1

## CondenseIt!<sup>TM</sup>

### JULY 6. 2000

		Page :	Page	ge 3
1	IN THE COURT OF COMMON PLEAS		1 (Thereupon, <b>PLAINTIFF'S</b> Deposition Exhibit 1 was mark'd for purposes	
2	OF CUYAHOGA COUNTY, OHIO		2 of identification.)	
3			3	
4	DIANE COLVIN, ADMINISTRATOR		4 DAVID LONGWORTH, M.D., of lawful age,	
5	OF THE ESTATE OF GREGORY		5 called for examination, as provided by the Ohio	
6	COLVIN,		6 Rules of Civil Procedure, being by me first duly	
Ι	Plaintiff,		7 sworn, as hereinafter certified, deposed and said	
8	VS. Case No.		8 as follows:	
9	KEITH KRUITHOFF, M.D.,		9 EXAMINATION OF DAVID LONGWORTH, M.D.	
0	ET AL., 388614		0 BY MS. TOSTI:	
1	Defendants.		1 Q. Doctor, would you please state your	
2			2 name for us.	
3	DEPOSITION OF DAVID LONGWORTH, M.D.		3 A. David Lawrence Longworth.	
4	Thursday, July 6, 2000		4 Q. And what is your home address?	
5			5 A. 31010 Providence Road, Pepper Pike,	
6	Deposition of DAVID LONGWORTH, M.D.,		6 Ohio.	
7	a Witness herein, called by the Plaintiff		7 Q. And your zip code?	
8	for examination under the statute, taken before		$\begin{array}{cccc} 8 & A. & 44124. \\ 2 & D & Ia that a single family hame? \end{array}$	
9	me, Karen M. Patterson, a Registered Merit		9 Q. Is that a single-family home?	
0			0 A. Yes.	
1	of Ohio, pursuant to notice and stipulations of		1 Q. And what is your current business 2 address?	
2	counsel, at the offices of Cleveland Clinic			
	Foundation, 9500 Euclid Avenue, Cleveland, Ohio,		<ul> <li>3 A. Department of Infectious Disease, Desk</li> <li>4 S32, the Cleveland Clinic, 9500 Euclid Avenue,</li> </ul>	
	at 1:35 o'clock p.m. on the day and date set		4 S32, the Cleveland Clinic, 9500 Euclid Avenue, 5 Cleveland, Ohio, 44195.	
5	forth above.		5 Cieveland, Olilo, 44195.	
			-	
1	APPEARANCES:	Page <b>2</b>		ge 4
1 2		Page <b>2</b>	1 Q. And at the time that you rendered care	ge 4
	On behalf of the Plaintiff	Page <b>2</b>	1 Q. And at the time that you rendered care 2 to Gregory Colvin, was that also your business	ge 4
2	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> </ol>	ge 4
2 3	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street	Page <b>2</b>	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> </ol>	ge 4
2 3 4	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower	Page <b>2</b>	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> </ol>	ge 4
2 7 4 5	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic	Page <b>2</b>	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> </ol>	ge 4
2 3 4 5 6	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation:	Page <b>2</b>	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> </ol>	ge 4
2 3 4 5 6 7	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHEN A. SKIVER, ESQ. 30025 E. River Road	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> </ol>	ge 4
2 3 4 5 6 7 8	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHEN A. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 1 2	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff,	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHEN A. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ.	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> </ol>	ge 4
2 <b>3</b> <b>4</b> <b>5</b> <b>6</b> <b>7</b> <b>8</b> <b>9</b> <b>D</b> <b>1</b> <b>2</b> <b>3</b> <b>4</b> <b>5</b>	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHNS. POLITO, ESQ. Leader Building, Suite 1400	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group:	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHNS. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNA MOORE CARULAS,ESQ	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNA MOORE CARULAS,ESQ 1375 East 9th Street Cleveland, Ohio 44114.	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 0 1 1 2 3 4 5 6 7 8 9 0 0 1 1 1 1 1 1 1 1 1 1 1 1 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNA MOORE CARULAS, ESQ 1375 East 9th Street	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> <li>taken, and by that I mean, what capacity was it</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 2 3 4 5 6 7 8 9 0 1 1 1 2 3 4 5 6 7 8 9 0 1 1 1 1 1 1 1 1 1 1 1 1 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNAMOORE CARULAS,ESQ 1375 East 9th Street Cleveland, Ohio 44114 (216) 623-0150	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> <li>taken, and by that I mean, what capacity was it</li> </ol>	ge 4
2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 1 2 3 4 5 6 7 8 9 0 0 1 1 2 3 4 5 6 7 8 9 0 0 1 1 1 1 1 1 1 1 1 1 1 1 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNAMOORE CARULAS,ESQ 1375 East 9th Street Cleveland, Ohio 44114 (216) 623-0150	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> <li>taken, and by that I mean, what capacity was it</li> <li>being taken?</li> <li>A. Once it was taken in a murder trial</li> </ol>	ge 4
2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 7 8 9 0 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 1 2 1 2 1 1 1 1 1 1 1 1 1 1 1 1 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNAMOORE CARULAS,ESQ 1375 East 9th Street Cleveland, Ohio 44114 (216) 623-0150	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> <li>taken, and by that I mean, what capacity was it</li> <li>being taken?</li> <li>A. Once it was taken in a murder trial</li> <li>where I was a witness. On several other</li> </ol>	ge 4
2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 7 8 9 0 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 3 4 5 6 7 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 D 1 2 8 9 1 2 1 2 1 1 1 1 1 1 1 1 1 1 1 1 1	On behalf of the Plaintiff Becker & Mishkind Co., L.P.A., by JEANNE TOSTI, ESQ Suite 660 Skylight Office Tower 1660 West 2nd Street Cleveland, Ohio 44113 (216) 241-2600 On behalf of the Defendant Cleveland Clinic Foundation: STEPHENA. SKIVER, ESQ. 30025 E. River Road Perrysburg, Ohio 43551 (419) 666-3417 On behalf of the Defendant Keith Kruithoff, M.D.: Bonezzi Switzer Murphy & Polito Co., L.P.A., by JOHN S. POLITO, ESQ. Leader Building, Suite 1400 526 Superior Avenue Cleveland, Ohio 44114-1491 (216) 875-2767 On behalf of the Defendant Ohio Permanente Medical Group: Roetzel & Andress, by ANNAMOORE CARULAS,ESQ 1375 East 9th Street Cleveland, Ohio 44114 (216) 623-0150	Page 2	<ol> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, was that also your business</li> <li>address?</li> <li>A. Yes.</li> <li>Q. And at the time that you rendered care</li> <li>to Gregory Colvin, who was your employer?</li> <li>A. The Cleveland Clinic.</li> <li>Q. And Cleveland Clinic is currently your</li> <li>employer also?</li> <li>A. Correct.</li> <li>Q. Do you provide professional services</li> <li>for any entity other than the Cleveland Clinic?</li> <li>A. No.</li> <li>Q. Have you ever had your deposition</li> <li>taken before?</li> <li>A. Yes.</li> <li>Q. How many times?</li> <li>A. Less than five.</li> <li>Q. And why was your deposition being</li> <li>taken, and by that I mean, what capacity was it</li> <li>being taken?</li> <li>A. Once it was taken in a murder trial</li> </ol>	ge 4

JULY 6, 2000

	DAVID LONG WORTH, W.D.
	Page 5 Page 7
1 occasions, it's been taken in cases where I have	1 that case?
2 not been personally named but have been involve	ed 2 A. It was a young woman who came to an
3 in the care of the patient.	3 emergency room with a nondescript febrile illness
4 Q. I'm going to go over some of the	4 who ultimately went on over 24 hours to develop
5 ground rules for depositions. I'm sure counsel	5 fulminant meningococcemia. She had none of the
6 has had a chance to talk with you. This is a	6 cutaneous stigmata or clinical clues of
7 question-and-answersession. It's under oath.	7 presentation, and the Plaintiffs alleged that the
8 It's important that you understand my questions.	· · ·
9 If you don't understand them, if I have phrased	9 emergency room and received antibiotics.
10 them inartfully, just let me know; I'll be happy	10 Q. Was your deposition taken in that
11 to restate the question in other terms or give it	11 case?
12 to you again. Otherwise, I'm going to assume	12 A. Yes.
13 that you understood the question that I am asking	
14 you and that you are able to answer it.	14 A. On video, I believe.
15 At some point during the deposition,	15 Q. How was that case resolved?
16 counsel may choose to enter an objection. You	
17 are still required to answer my question unless	17 Q. Now, you indicated that there were
18 counsel tells you not to do so. You also must	18 other cases that you served as an expert
19 give all of your answers verbally, because the	19 witness?
20 court reporter can't take down head nods or hand	
21 motions. And if at some point it's helpful to	21 years ago. I don't do that work routinely. This
22 you to refer to the medical records that you have	
23 in front of you, feel free to do so.	23 Q. In regard to the cases in which you
Have you ever been named as a	24 gave testimony as a treating physician in the
25 Defendant in a medical negligence suit?	25 case and which you were not named as a Defendant,
	Page 6 Page 8
1 A. No.	1 when is the last time that you gave testimony in
2 Q. Now, you indicated that you had acted	2 such a case?
3 as an expert several times. Were those for cases	3 A. Perhaps two months ago.
4 that were filed here in Ohio?	4 Q. Do you recall the Plaintiff in that
5 A. Yes.	5 case?
6 Q. When was the last time that you served	6 A. It was a gentleman named Habiby.
7 as an expert?	7 Q. Who was the defense attorney on that
8 A. Six or eight months ago.	8 case?
9 Q. Do you recall the name of the case?	
	9 A. Jim Malone.
10 A No	<ul> <li>9 A. Jim Malone.</li> <li>10 O Was that testimony deposition or trial</li> </ul>
10 A. No.	10 Q. Was that testimony deposition or trial
11 Q. How about the Plaintiff in the case?	10 Q. Was that testimony deposition or trial 11 testimony?
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> </ul>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> </ol>	<ol> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> </ol>
<ul> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> </ul>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> </ul>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> <li>A. I'm sorry, the Plaintiff no, that</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> </ul>
<ul> <li>11 Q. How about the Plaintiff in the case?</li> <li>12 A. It was St. Michael's, I believe.</li> <li>13 Q. Was it the Defendant or the</li> <li>14 Plaintiff'?</li> <li>15 A. I'm sorry, the Plaintiff no, that</li> <li>16 was the Defendant.</li> </ul>	<ol> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> </ol>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> <li>A. I'm sorry, the Plaintiff no, that</li> <li>was the Defendant.</li> <li>Q. Okay.</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> </ul>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> <li>A. I'm sorry, the Plaintiff no, that</li> <li>was the Defendant.</li> <li>Q. Okay.</li> <li>A. I don't recall the Plaintiff's name.</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> </ul>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> <li>A. I'm sorry, the Plaintiff no, that</li> <li>was the Defendant.</li> <li>Q. Okay.</li> <li>A. I don't recall the Plaintiff's name.</li> <li>Q. Were you serving as a Plaintiff or</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> <li>repair, complicated by a list liver laceration at</li> </ul>
<ul> <li>11 Q. How about the Plaintiff in the case?</li> <li>12 A. It was St. Michael's, I believe.</li> <li>13 Q. Was it the Defendant or the</li> <li>14 Plaintiff'?</li> <li>15 A. I'm sorry, the Plaintiff no, that</li> <li>16 was the Defendant.</li> <li>17 Q. Okay.</li> <li>18 A. I don't recall the Plaintiff's name.</li> <li>19 Q. Were you serving as a Plaintiff or</li> <li>20 defense attorney expert?</li> </ul>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> <li>repair, complicated by a list liver laceration at</li> <li>the time a chest tube was placed, did well,</li> </ul>
<ol> <li>Q. How about the Plaintiff in the case?</li> <li>A. It was St. Michael's, I believe.</li> <li>Q. Was it the Defendant or the</li> <li>Plaintiff'?</li> <li>A. I'm sorry, the Plaintiff no, that</li> <li>was the Defendant.</li> <li>Q. Okay.</li> <li>A. I don't recall the Plaintiff's name.</li> <li>Q. Were you serving as a Plaintiff or</li> <li>defense attorney expert?</li> <li>A. I was a defense attorney expert.</li> </ol>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> <li>repair, complicated by a list liver laceration at</li> <li>the time a chest tube was placed, did well,</li> <li>subsequently developed sepsis syndrome, had a</li> </ul>
<ul> <li>11 Q. How about the Plaintiff in the case?</li> <li>12 A. It was St. Michael's, I believe.</li> <li>13 Q. Was it the Defendant or the</li> <li>14 Plaintiff'?</li> <li>15 A. I'm sorry, the Plaintiff no, that</li> <li>16 was the Defendant.</li> <li>17 Q. Okay.</li> <li>18 A. I don't recall the Plaintiff's name.</li> <li>19 Q. Were you serving as a Plaintiff or</li> <li>20 defense attorney expert?</li> <li>21 A. I was a defense attorney expert.</li> <li>22 Q. Who was the defense attorney in the</li> </ul>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> <li>repair, complicated by a list liver laceration at</li> <li>the time a chest tube was placed, did well,</li> <li>subsequently developed sepsis syndrome, had a</li> <li>prolonged hospitalization, recovered, survived,</li> </ul>
<ul> <li>11 Q. How about the Plaintiff in the case?</li> <li>12 A. It was St. Michael's, I believe.</li> <li>13 Q. Was it the Defendant or the</li> <li>14 Plaintiff'?</li> <li>15 A. I'm sorry, the Plaintiff no, that</li> <li>16 was the Defendant.</li> <li>17 Q. Okay.</li> <li>18 A. I don't recall the Plaintiff's name.</li> <li>19 Q. Were you serving as a Plaintiff or</li> <li>20 defense attorney expert?</li> <li>21 A. I was a defense attorney expert.</li> <li>22 Q. Who was the defense attorney in the</li> <li>23 case?</li> </ul>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>11 testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>14 attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>17 that case?</li> <li>A. That man had undergone a mitral valve</li> <li>19 repair, complicated by a list liver laceration at</li> <li>20 the time a chest tube was placed, did well,</li> <li>21 subsequently developed sepsis syndrome, had a</li> <li>22 prolonged hospitalization, recovered, survived,</li> <li>23 did well, and the issue was the proximity of the</li> </ul>
<ul> <li>11 Q. How about the Plaintiff in the case?</li> <li>12 A. It was St. Michael's, I believe.</li> <li>13 Q. Was it the Defendant or the</li> <li>14 Plaintiff'?</li> <li>15 A. I'm sorry, the Plaintiff no, that</li> <li>16 was the Defendant.</li> <li>17 Q. Okay.</li> <li>18 A. I don't recall the Plaintiff's name.</li> <li>19 Q. Were you serving as a Plaintiff or</li> <li>20 defense attorney expert?</li> <li>21 A. I was a defense attorney expert.</li> <li>22 Q. Who was the defense attorney in the</li> </ul>	<ul> <li>Q. Was that testimony deposition or trial</li> <li>testimony?</li> <li>A. Both.</li> <li>Q. Do you know who the Plaintiff's</li> <li>attorney was in that case?</li> <li>A. Ruben don't remember his last name.</li> <li>Q. What was the issue of negligence in</li> <li>that case?</li> <li>A. That man had undergone a mitral valve</li> <li>repair, complicated by a list liver laceration at</li> <li>the time a chest tube was placed, did well,</li> <li>subsequently developed sepsis syndrome, had a</li> <li>prolonged hospitalization, recovered, survived,</li> </ul>

DAVID LONGWORTH, M.D.	Conden	seIt!	JULY 6.2000
	Page 9		Page 11
1 Q. How was that case resolved?	-	tother	hospital?
2 A. That was resolved in favor of the		2 A.	No.
3 Plaintiff.		3 Q.	Have your hospital privileges ever
4 Q. You said there were several cases in		t been	called into question, suspended or revoked?
5 which you had given testimony as a witness in th	ne i	5 A.	Never.
6 case. Do you recall any additional ones?		5 Q.	Doctor, on your curriculum vitae, you
7 A. I don't. The only case that I recall			a number of publications. Do any of these
8 is the murder case.			specifically with prosthetic valve
9 Q. Have you ever given any testimony in			carditis?
10 any of the cases that you have been involved with	h  1		Yes.
11 that had issues dealing with bacterial	1		Could you, on your curriculum vitae,
12 endocarditis?			the items that deal specifically with
13 A. To my knowledge, no.		-	netic valve endocarditis? Just put a circle
14 Q. Now, doctor, I have been provided at			d the number, and if you would mention what
15 the beginning of this deposition with a copy of	1		numbers are for the record.
16 your curriculum vitae. I'd like you just to	1		Okay. 26. 31 is related. 32, 34,
17 identify this for us for the record.			think that should be it.
18 A. It is my curriculum vitae.	1	-	Thank you. Have you taught or
19 Q. And it is marked as Plaintiff's			red on the subject of prosthetic valve
20 Exhibit 1; correct?			carditis?
21 A. Yes.	2		Yes.
22 Q. Are there any additions or corrections	22		Have any of your lectures been reduced
23 that you would like to make to your curriculum			ideo or outline or syllabus?
24 vitae at this time?	24		At some point in the past, yes.
25 A. No.	2:	, Q.	Are you in possession of any of those
	Page 10	•.	Page 12
1 Q. Doctor, you were licensed to practice			in a written or video or audio taped form?
2 in the State of Ohio; correct?			No.
3 A. Yes.			Do you know who would be in possession
4 Q. And you are also so licensed at the			bese such items?
5 time that you rendered care to Gregory Colvin; is			I have talked on endocarditis at our
6 that correct?		-	annual internal medicine review course a
7 A. Correct.			er of years ago, and I do not know whether
8 Q. Are you currently licensed in any 9 other states?			yllabus material still exists since others
			have subsequently given that lecture and a nt syllabus is prepared by someone else in
<ul><li>10 A. Not actively.</li><li>11 Q. Has your license to practice medicine</li></ul>		that r	
12 ever been called into question, suspended or	11		Tell me what you reviewed for this
13 revoked?			•
14 A. Never.	1	$\frac{3}{4}$ depose	I reviewed the written notes from the
14 A. Nevel. 15 Q. Now, doctor, you are board certified			ary 23rd through 27th, 1998 hospitalization
16 in internal medicine and in infectious diseases;			Ir. Colvin, specifically the notes regarding
17 is that correct?			are provided on February 26th and 27th of
18 A. Correct.		3 1998	· ·
19 Q. Did you pass both of those board	19		Have you reviewed any of the Kaiser
20 certifications on your first attempt?		) recor	
21 A. Yes.	2		None.
22 Q. Do you currently have hospital	22		aside from let me finish my
23 privileges here at Cleveland Clinic?			ion aside from what might appear in the
24 A. Correct.		-	eland Clinic records from the admission that
25 Q. Do you have hospital privileges at any			ist indicated?
<b>DATTEDSON CODON DEDODTING ING</b>		<u> </u>	Daga 0 - Daga 12

	JULY 6.	,2000
--	---------	-------

20		no	
	Page 13		Page 15
1	A. No.	1	valve endocarditis?
2	Q. Have you at any time reviewed any	2	A. Yes.
3	tapes of echocardiograms done on Gregory Colvin?	3	Q. When did you participate in that
4	A. No.	4	research?
5	Q. And since this case was filed, have	5	A. Well, the publications noted in my
6	you discussed this case with any other	6	curriculum vitae represent epidemiologic studies,
7	physicians?	7	mainly retrospective, looking at various aspects
8	A. The only conversation I have had was	8	of that entity, and on a couple of occasions
	when we had notification that the case was		describing unusual causes of prosthetic valve
	filed. My colleague, Dr. Schmidt, and I got a	10	endocarditis.
11	notice at the same time, and he asked me whether	11	Q. Those instances are contained in the
12	I recalled the case, and I did not, nor did he at	12	publications that you have previously identified;
13	the time. And we never discussed it	13	correct?
14	subsequently.	14	A. Yes, ma'am.
15	Q. And other than counsel, have you	15	Q. Is your practice limited to the field
1	discussed the case with anyone else	16	of infectious disease?
17	A. No one.	17	e
18	Q since the time it was filed?	18	medicine to keep my skills current.
19	A. No.	19	Q. If you could just give me a rough
20	Q. Do you have any personal notes or	20	breakdown on percentage, what would you say?
	personal file on this case aside from your	21	A. 98 percent infectious disease.
22	notations in the medical records from the	22	Q. How often do you see patients with
23	admission that you cited?	23	prosthetic valve endocarditis?
24	A. No, ma'am.	24	A. Well, it is, fortunately, a rare
25	Q. Have you ever generated such personal	25	complication of heart valve surgery, but in
	Page 14		Page 16
1	notes?	Ι	centers such as ours that are referral centers
2	A. You mean on this case or on any case?	2	and that additionally do a lot of cases and
3	Q. On this case.	3	receive patients who are already infected, I see
4	A. No.	4	it frequently.
5	Q. Is there a textbook in your field of	5	Q. Could you tell me, in the last month,
	infectious disease that you consider to be the	6	how many cases you have seen?
7	best or most reliable?	7	A. Probably two or three.
8	A. I think most would think that Mandel's	8	Q. Is that typical?
	Textbook of Infectious Disease would be the	9	A. That's typical.
10	standard in our field.	10	Q. What is the incidence of prosthetic
11	Q. Do you find the information contained	11	1
1	in it to be authoritative?	12	surgery?
13	A. In most instances.	13	A. It depends upon the center.
14	Q. And are there any publications that,	14	Q. But overall, is there a particular
	as you sit here today, you believe have	15	incidence when you look at national averages?
1	particular relevance to the issues in this case?	16	A. The best centers would have an
17	A. None that jump out as single solitary		incidence rate that is somewhere around one
1	publications.		percent, and that is our incidence rate as well.
19	Q. That's what I'm asking, if you know of		The published literature has suggested higher
1	one right now		incidence rates at some centers, especially those
21	a. No.	1	that do lower volumes of cardiac valve surgery.
22	Q that you believe has particular	22	Q. And in regard to prosthetic valve
1	significance.	1	endocarditis, is there an organism that most
24	Have you participated in any research dealing with the subject matter of prosthetic		frequently causes it, a particular one that has
	degling with the subject matter of prosthetic	1.25	the highest rate of incidence?

### **CondenseIt!**<sup>TM</sup>

DAVID LONGWORTH. M.D. Cone	lenseIt! <sup>1M</sup> JULY 6.2000
Page 1	7 Page 19
1 A. It depends upon when the endocarditis	1 bacteremia seem to have a variable incidence, but
2 occurs.	2 higher than average, depending upon the organism
3 Q. Are you differentiating between early	3 seeding a valve.
4 and late?	4 Q. Anything else?
5 A. Iam.	5 A. No.
6 Q. What would be the most frequent	6 Q. Would you agree that there has to be a
7 causative organism in early prosthetic valve	7 high degree of vigilance for bacterial
8 endocarditis?	8 endocarditis in a patient with a prosthetic heart
9 A. Coagulase-negative staphylococci.	9 valve?
10 Q. And in late endocarditis?	10 A. High degree of vigilance. I think
11 A. It depends upon the species, but the	11 physicians need to be cognizant of the diagnosis
12 same ones also are disproportionately more common	12 and the fact that it is a, fortunately, rare
13 causes compared with native valve endocarditis.	13 complication.
14 But the microbiology of late onset prosthetic	4 Q. What are the signs and symptoms of
15 valve endocarditis more closely approximates that	15 prosthetic valve bacterial endocarditis?
16 of native valve disease.	16 A. They include fever, but the fever is
17 Q. And in regard to Gregory Colvin, do	17 not invariably present. Actually, before I go on
18 you have an opinion as to whether his prosthetic	18 and answer that, I would comment that the signs
19 valve endocarditis was an early or late type?	19 and symptoms and the frequency of those signs and
20 A. I've only reviewed the records from	20 symptoms may vary depending upon the causative
21 February 23rd through February 27th, and so I do	21 organism. But they include fever, a new heart
22 not know the subsequent details of the case.	22 murmur, especially a regurgitant murmur,
<ul><li>22 not know the subsequent details of the case.</li><li>23 Q. So at this time, you have no</li></ul>	23 cutaneous stigmata of endocarditis such as
24 opinion	24 Osler's nodes, splinter hemorrhages, Janeway
25 A. Correct.	25 lesions.
Page 1 1 Q as to whether he had early or late	<ul> <li>8 Page 20</li> <li>1 In significant prosthetic valve</li> </ul>
2 prosthetic valve endocarditis?	2 endocarditis with valve leakage, congestive heart
3 A. I do not believe he had endocarditis	3 failure. Less common findings would include
4 at the time.	4 splenomegaly. Even less common would be immune
5 Q. You have to let me finish my question;	5 complex glomerulonephritis, embolic episodes to
6 then you can answer it. So at the present time,	6 the central nervous system, to the limbs, to the
· ·	7 viscera, especially the spleen.
7 you do not have an opinion as to whether Gregory	
8 Colvin had early or late prosthetic valve	8 Laboratory stigmata such as anemia,
9 endocarditis; correct?	9 microhematuria. Less often, red cell casts in
10 A. Correct.	10 the urine with chronic infection. Occasionally,
11 Q. In a patient with a prosthetic heart	11 with acute PVE due to Staph aureus, leukocytosis.
12 valve, are there any factors that increase the	12 Q. Is anorexia and weight loss associated
13 risk for prosthetic valve endocarditis?	13 with prosthetic valve bacterial endocarditis?
14 A. Ask that one again, please.	14 A. They would be uncommon manifestations,
15 Q. In a patient that has a prosthetic	15 in fact. Anorexia is certainly on the list of
16 valve, are there any factors that would increase	16 symptoms, but, in my own experience, it's not a
17 their risk for prosthetic valve endocarditis?	17 prominent symptom in patients with prosthetic
18 A. Yes.	18 valve endocarditis. And weight loss, in native
19 Q. Could you tell me what those factors	19 valve or prosthetic valve endocarditis, is rare
20 are.	20 and would only be seen in patients who have
21 A. Patients who have mechanical	21 long-standing chronic infection with very
22 prostheses tend to have a higher incidence of PVE	22 indolent organisms or in those who have an occult
23 than patients who have bioprosthetic valves.	23 colonic malignancy and Strep bovis or
24 Patients who have had prior endocarditis seem to	24 enterococcal endocarditis, in which case the
25 have a higher incidence. Patients who have	25 weight loss is due to the cancer.

JULY 6. 2000 Conde		DAVID LONGWORTH, M.D
	Page 21	Page 2
1 Q. In Gregory Colvin's case, is there	-	1 A. Because sustained bacteremia is the
2 anything that would indicate to you that he had	1 2	2 hallmark of endovascular infection as opposed to
3 cancer?		3 episodic bacteremia, which is more characteristic
4 A. Based upon my two-day involvement in	4	4 of contained, closed-space infections.
5 his care, no.	5	5 Q. Can a culture come back negative even
6 Q. Is an increased erythrocyte	(	6 when there is bacteremia?
7 sedimentation rate associated with prosthetic	2	7 A. Can you refine that question a little
8 valve endocarditis?	8	8 more for me?
9 A. It can be.	9	9 Q. Yes. If a patient has a bacteremia
10 Q. Is that a sign of infection?	10	10 and a blood culture is done, can that blood
11 A. It is not a specific sign of	1	11 culture eventually show a negative result and yet
12 infection. There are other things that can	12	2 bacteremia still be present?
13 increase the sedimentation rate that are not	12	A. Certainly blood cultures rely on an
14 infectious in origin.	l	4 inoculum in the culture medium to grow. But the
15 Q. Do the signs and symptoms of		15 sensitivity in patients without recent
16 prosthetic valve endocarditis differ in any way	10	16 antimicrobials in diagnosis of endovascular
17 from just bacterial endocarditis?	17	17 infection is very, very high. There are data
18 A. Can you refine the question?	18	8 suggesting that antibiotics administered within
19 Q. Yes. In a patient who does not have a	19	9 two weeks, in the prior two weeks, may lower the
20 prosthetic valve, as opposed to a patient that	2(	20 sensitivity of blood cultures, but we rely
21 does, if both of them develop a bacterial	21	21 heavily on blood cultures to make the diagnosis.
22 endocarditis, are the signs or symptoms differe	ent 22	22 The reason I paused with regard to
23 in any way?	23	23 your question is that there are rare causes of
A. The absolute signs and symptoms are	24	24 endocarditis produced by fastidious organisms
25 the same, and, again, vary more by the organis	m 25	25 that would not grow in routine blood culture
	Page 22	Page 2
1 involved, The relative incidences of the	-	1 media in which we would make the diagnosis
2 different signs and symptoms differ slightly in		2 serologically or by utilizing more specialized
3 the literature between PVE and native valve		3 media. As an example, fungal endocarditis of
4 endocarditis.	4	4 both native and prosthetic valves is rarely
5 Q. Let me refine my question a little		5 diagnosed by culturing the organism from the
6 bit.		6 blood.
7 Is there any sign or symptom that's		7 Q. The staph bacteriums that you
8 specific to prosthetic valve endocarditis that	8	8 mentioned previously as the causative organisms,
9 you would not see in a patient that did not have		9 would you group any of those as a fastidious
10 a prosthetic valve that develops endocarditis?		10 organism?
11 A. No.	11	
12 Q. Do valvular vegetations have to be	12	12 Q. In a patient that has endocarditis,
13 present before the diagnosis of prosthetic valve	2 1:	13 are there some instances when blood cultures
14 endocarditis can be made?		14 never turn positive?
15 A. One can make that diagnosis, but with		15 A. That happens in about two percent of
16 less confidence, in the absence of vegetations.		16 cases. And those two percent are usually due to
17 And the thing that would make one make that		17 fastidious organisms.
18 diagnosis would be sustained bacteremia over t	1	Q. Are there some instances when it is
19 without another evident source. So that the		19 not due to a fungal endocarditis?
20 combined absence of vegetations and bacterem		A. That people are culture negative?
21 would have a high, a very high, negative		21 Q. Yes.
22 predicted value for the diagnosis.		A. Certainly. Other fastidious
23 Q. Why are serial blood cultures done on		23 organisms, nutritionally variant streptococci,
24 patients when bacterial endocarditis is within	1	24 Q fever, Legionella, heavily pretreated patients
25 the differential diagnosis?		25 who have had a lot of antibiotics with more

)	AVID LONGWORTH, M.D. Conde		seIt! <sup>11</sup> JULY 6, 2000
	Page 2.	5	Page 27
1	typical bacterial pathogens.		chemotherapy, and in selected indications, valve
2			replacement surgery, and in rare circumstances,
	has received IV doses of antibiotics within a		retrieval of peripheral emboli that are large,
	two-week period prior to the blood cultures, did		occlusive emboli.
1	I understand you correctly to say that that may	5	Q. And just in general, how long of
	have an effect on whether or not the blood	6	treatment with IV antibiotics or with antibiotics
7	cultures grow any bacteria?	7	would be required for prosthetic valve
8			endocarditis?
9	•	9	A. Four to six weeks. Occasionally
10	~ ~ ~ ~ ~ ~	10	longer.
11	Q. Let me finish you might obtain a	11	
12	negative result when, in actuality, there may be	12	prosthetic valve endocarditis, is it possible to
	a bacteremia present?		clear the infection just with antibiotics and not
14			having to move towards replacement of the valve?
15	collect and are negative in that setting, the	15	-
	more reassurance you have that there's no	16	Q. What percentage of cases are treatable
17	bacteremia.	17	medically?
18	Q. Are there any particular criteria, in	18	
19	your opinion, that have to be present before a	19	referral bias and the mixture of organism that
	presumptive diagnosis of prosthetic valve	20	one sees in your representative case series.
21	endocarditis can be made?	21	
22	A. Ideally, we like to see sustained	22	tends to be a highly virulent, aggressive
23	bacteremia. In cases in which that is not		infection that often requires valve replacement
24	evident, there should be clear-cut		surgery. Coagulase-negative staph, on the other
25	echocardiographic evidence of infection. And	25	hand, in which the infection is localized to the
	Page 2	5	Page 28
1	that would mean large vegetations, evidence of a		valve, has not produced dehiscence or a leak,
1	ring abscess, but with the caveat that one can be		often can be successfully managed medically
	fooled and there are falsely positive		without surgical intervention.
1	echocardiograms for both vegetation and ring	4	
	abscess. Ideally, we make the diagnosis		prosthetic valve endocarditis is treated with
	histopathologically.		antibiotics, if it's a bacterial infection, the
17			more likely the outcome will be positive?
	what does that mean?	8	
9		9	upon the extent of the infection and the extent
10	cultures collected over time. And we define that		to which there is valvular damage when the
11	as at least over several hours.		patient presents.
12	Q. How many positive blood cultures, in	12	
13	your opinion, are required before the diagnosis	13	B treat a patient with a bacterial endocarditis
	of prosthetic valve endocarditis can be made?		sooner rather than later?
15		15	5 MS. CARULAS: Objection.
16	with regard to bacteria, in a patient who has not	16	
	been previously treated who grows	17	
	coagulase-negative staph, we would like to see	18	
	three or four of those be positive because of the		high index of suspicion for bacterial
1	concern that that can be a common skin		endocarditis when a prosthetic valve patient
21	contaminant. Other organisms, such as staph		presents with fever and night sweats and
	aureus, I might accept two collected over time.		fatigue?
23		23	A. Those are nonspecific symptoms
214	treated?	24	produced by many illnesses, of which prosthetic
215	A. It is treated with antimicrobial	25	valve endocarditis is one, and it must always be
D	ATTERSON-GORDON REPORTING INC		Page 25 - Page 28

JULY 6,2000	<u>CondenseIt</u> ! <sup>™</sup>	DAVID LONGWORTH, M.D.
	Page 29	Page 31
1 on the differential diagnosis in such patients.	1 appropriate th	herapy, evidence of sustained
2 Q. But particularly on a patient with a	2 bacteremia de	espite appropriate antibiotic
3 prosthetic valve, when they come in with a		the discussion is always how that is
4 history over several weeks of fever, night sweats	- ·	abscess, worsening congestive heart
5 and fatigue, shouldn't that send up a red flag in		alve leak despite medical therapy.
6 a clinician's thinking as to what's going on with		those circumstances, the
7 this patient?		ually focuses on timing and whether
8 MR. POLITO: Objection.		in the best shape possible,
9 MR. SKIVER: Go ahead, doctor.	-	atient is too sick to get through
10 A. It should be one of the prominent	10 the surgery.	6
11 things on the differential diagnosis. But		ou previously indicated that you
12 depending upon other aspects of the history and	÷	cussions with another physician and
<sup>13</sup> physical exam, it is by no means the only thing.		you didn't have a recollection of
14 And I have seen many patients with exactly that		You have had an opportunity to
15 clinical presentation who come to me because of		of the records of Mr. Colvin at The
16 that concern but who have other things.		inic admission. Do you, as you sit
17 Q. And when you are presented with those		<i>independent recollection of</i>
18 types of symptoms, do you take action in order t		-
19 determine whether or not those patients have in	19 A. No.	
20 fact bacterial endocarditis by doing appropriate		your review of the record, I
21 diagnostic testing and evaluation?		ve previously indicated that you saw
22 A. It depends upon what else there is in	÷	vin on two days, February 26th and
23 the test history and the physical exam as to		n of 1998; is that correct?
24 whether that's the first thing on the list, but	24 A. Correc	
25 if I suspect that it's a possibility, I would		rd to the first time that you
		·
1	Page 30	Page 32
1 pursue the workup.	-	were you seeing him that day?
2 Q. Doctor, when you are consulting on a		eeing him because of a history
3 patient with prosthetic valve endocarditis, do		wing his earlier valve replacement
4 you as an infectious disease specialist sometimes	0.	I note here that he in fact is a
5 make recommendations as to whether or not the	-	t. We do not routinely see Kaiser
6 patient should undergo removal of the infected	· · · ·	there are circumstances when we are
7 valve? Is that something that you do as an	-	infectious disease consultants at
8 infectious disease		the patients on an ad hoc basis if
9 A. On occasion.	-	vailable to see them. And although
10 Q. What would be the indicators, in a	-	not reflect that, I would surmise
11 patient with a prosthetic valve that has		ny I saw this patient.
12 endocarditis, for you as far as making		o not know who ordered the
13 recommendations for surgical removal and	•	ou to see this patient?
14 replacement of the valve?		remember. That might be
15 A. The decision to operate depends upon		don't remember. Well, it would be
16 several things, including the presence of certain		es beeper 27484, whose signature I
17 surgical indications in the literature, but which		dated February 26th, 1998. ID
18 must be balanced by the individual patient's	-	ding subacute bacterial
19 circumstance in terms of comorbidity that would		
20 increase the risk of surgery. But the classic		ou don't have any recollection of
21 indications for surgical intervention in		specific information from anyone
22 prosthetic valve endocarditis include multiple	2. requesting a c	
23 emboli, the presence of fungal prosthetic valve		t. I do not have that
24 endocarditis, which has a 95 percent mortality	!4 recollection.	
<sup>25</sup> with medical therapy alone, relapse after	!5 Q. And do	you have any recollection of

DA	AVID LONGWORTH, M.D. Conde	<u>ens</u>	selt! <sup>TM</sup> JULY	6,2000
	Page 33			Page 35
1	being provided with any information about the	1	the physical findings that I noted at the time,	0
	patient prior to the time that you saw him?		because those often are of interest further down	
3		3	the line in the care of patients.	
4	Q. Now, based on your review of the	4		
5	record and the information that is contained in	5	review the records, was there anything that you	
6	your assessment, can you tell me whether Gregory		disagreed with in Dr. Poggi's note, the initial	
	Colvin, in your opinion, was at high risk for		note?	
	bacterial endocarditis at the time you saw him?	8	A. I honestly don't remember. I would	
9	A. Could you define high risk?	9		
10		10		
11	there are patients that are at normal risk, as	11	take any history from him personally?	
	any patient that has a prosthetic valve, but	12		
	anything that would have increased his risk, over		features, but I don't remember. My custom wo	uld
	a patient that just has a prosthetic valve, for		have been to have elicited the history personally	
	endocarditis.		from the patient, to have done it all over again,	
16	A. Well, he had had a surgical procedure		and in the absence of that, would have elicited	
	about two weeks earlier and was presenting with		that from a family member. I see in my note th	e
	fever, so certainly it was on people's minds.		g quotation that he said, quote, "I feel well,"	
19			unquote, on the first page of my February 26th,	
20	Colvin, was anyone else in attendance with you?	20		
21	A. My resident, I'm sure, would have been	21	And I also did a detailed review of	
22	there, but I don't actually recall.		systems, which suggests to me that I personally	
23	Q. Who was your resident?		asked Mr. Colvin all of those questions. My	
24			practice is not to write down those things if I	
25	the consult note just before me. And he would		haven't verified them myself.	
	Page 34	-		Page 36
1	have presented the case to me, and we would have	1	Q. And would it be your normal practice	1 age 50
2			to do a physical exam of the patient?	
3	Q. What year resident was Dr. Poggi?	3		
4		4		
5	Q. And he was an infectious disease		wrote from that visit on the 26th, do you have	
	resident?		your exam findings?	
7	A. No. He was a general medicine	7		
	resident.	8		
9	Q. And it would be the usual procedure		normal when you did his physical exam?	
10	for Dr. Poggi to go in and do an assessment of	10		
	the patient and then make a presentation to you?	11	38 the prior night. He had a right internal	
12	A. Yes.		jugular stitch abscess, and my note reflects that	
13	Q. Do you have any recollection of any		I expressed about two cc's of pus. My note	
14	report that he made to you in regard to his		specifically reflects the fact that I looked for	
	assessment?		and did not see any peripheral stigmata of	
16			endocarditis involving the skin or the	
	mine, but I don't recall anything about the		conjunctiva, that he had a few left-sided rales	
	presentation.		at the base in the left anterior axillary line;	
19			that his sternal wound was well healed, that he	
20	to review his written note on a patient?		was in atrial fibrillation, and that he	
21	A. It would be my normal procedure to do		specifically had no murmur, gallop or rub on	
22	that, but, quite frankly, as you can see from the		cardiac exam; that his abdominal examination v	vas
	record, I write fairly extensive notes, and I do	23	unremarkable, and specifically that he lacked	
24	so because I want to know exactly what I thought		splenomegaly and costovertebrosacral tendernes	ss,
25	and the history that I elicited at the time and		that his joints were normal. I examined his	
D	TTERSON-GORDON REPORTING INC		Раде 33 -	D 1/

 $m_{\gamma}, \overset{(d)}{=}$ 

ULY 6,2000 Conde		seIt! <sup>™</sup> DAVID LONGWORTH, M.I	D.
Page		Page	39
1 testes and epididymides. Those were normal. I	1	purulent material be a potential source of	
2 examined his spine. Those were		infection to the prosthetic valve?	
3 Q. Doctor, I asked you for deviations	3		
4 from the normal, so if you could just confine		blood cultures.	
5 yourself to those.	5		
6 A. None other than I've mentioned.		sending that material, the purulent material, for	
7 Q. Mr. Colvin was in the hospital for	1	a culture in his case?	
8 three days before you saw him; correct?	8		
9 A. I believe he was admitted February		the time and if you thought that it was if we	
10 23rd, correct.		thought that it was superficial and adequately	
11 Q. And you saw him on the 26th?		drained and that he did not have endocarditis,	
12 A. Yes.		2 not necessarily.	
13 Q. Did you find it odd that you were	13		
14 being called in three days after his admission?		4 case?	
15 A. Not particularly.	15		
16 Q. Do you know whether he was seen by any		5 and my following day's note reflects that I got a	
17 other infectious disease person from Kaiser prior		little more out and that the wound looked very	
18 to the time that you and the resident, Dr. Poggi,	1	good.	
19 saw him?	19		
20 A. It's not reflected in the chart note,	1	mind not to send it for culture?	
21 so I presume no.	20 1		
22 Q. Now, you indicated that you observed	22		
23 that Mr. Colvin had a stitch abscess at the time	1	him on the 26th, any murmurs, heart murmurs?	
24 that you saw him. On the 26th, did you note any	23 1	-	
25 retained suture in his stitch abscess?	25		
Page		Page 4	10
1 A. My note doesn't reflect it.		the fact that you did not hear any murmurs of any	
2 Q. If in fact		significance in regard to determining whether or	
3 A. I would have commented on it.		not this was a prosthetic valve endocarditis?	
4 Q. And you indicated that you were able	4	1 8	
5 to express two cc's of purulent material from the		absolutely exclude, the diagnosis.	
6 abscess at the time that you saw him?	6		
7 A. Correct.		indicated under the assessment portion of the	
8 Q. Wow did you do that? Was that with a		s skin, the second line, that there was no stigmata	ĺ
9 syringe?		of infectious endocarditis. What stigmata are	
10 A. No, it was with a glove.		you referring to there?	
11 Q. Applying pressure?	11		
12 A. Yes.		Janeway lesions, peripheral emboli, conjunctival	
13 Q. Had he had any type of drainage from		petechiae. Those are also alluded to in the	
14 that wound prior to the time that you expressed		following line.	
15 the purulent material?	15		
16 A. It's not reflected in my notes. 1	-	you make reference to the transesophageal echo.	
17 don't recall. I would have specifically		I believe you have TEE and then question mark.	
18 commented on that had that been the case.	18		
19 Q. When you expressed the purulent	19		
20 material from the stitch abscess, did you send it	20		
21 for a culture?	21		
A. I don't recall that either. It's not	-	you obtain the information in regard to the	
23 in my notes.		transesophageal echo?	
24 Q. In a patient with a prosthetic valve,	24		
25 wouldn't an abscess containing two cc's of	25 1	not my practice would have been to look at the	

## **CondenseIt!**<sup>TM</sup>

DAVID LONGWORTH, M.D. Conc	lenselt! <sup>M</sup> JULY 6, 2000
Page 41	Page 43
1 report. I do not read echos. I rely on the	1 Q. If he had had a transesophageal echo
2 cardiologists to tell me what they see.	2 done within a month of the time of his discharge
3 Q. Do you have a copy of that echo in the	3 from the hospital on February 27th, do you have
4 records that you have in front of you?	4 an opinion as to whether there would have been a
5 A. Yes.	5 change in that echo density that would have been
6 Q. Now, I'd like you to take a look at	6 consistent with prosthetic valve endocarditis or
7 it, if you would. In the analysis of the echo,	7 dehiscence?
8 there is a reference there to an echo density?	8 A. No, because I'm not certain, by any
9 A. Correct.	9 matter or means, when he became infected, nor do
0 Q. And I believe it says something to the	0 I know how he was behaving clinically at that
1 effect that there's a questionable suture from	1 time.
2 his prosthetic valve that may be what the echo	2 Q. That's all I'm asking, is if you have
3 density is.	3 an opinion, doctor. And if you don't, just tell
4 A. Right.	4 me you don't and we'll go on to the next thing.
5 Q. In regard to the history that Gregory	5 MR. SKIVER: That's what he just did.
6 Colvin provided of the fever, the night sweats	6 Q. After you had an opportunity to assess
7 and the neck abscess that you observed, and, in	7 him on the 26th, what were your impressions in
8 addition, that echo density on his	8 regard to his clinical status?
9 transesophageal echo, would that raise a high	9 A. I thought that the differential
0 degree of suspicion that he may have prosthetic	0 diagnostic concerns included that his fevers were
1 valve endocarditis?	1 due to a stitch abscess, that he had a
2 A. That echocardiographic finding,	2 postcardiotomy syndrome, and that we needed to
3 thinking that it's a suture, in fact, we thought	3 exclude a urinary tract infection because of his
4 spoke against the diagnosis because they felt	4 hematuria. And my note specifically says, though
5 that it was a suture, and the need for followup	5 PVE a concern, I suspect he is not infected. And
Page 42	Page 44
1 echocardiography would have been dictated by his	1 I based that upon the fact that he was clinically
2 subsequent clinical course.	2 getting better and he had multiple negative blood
3 Q. The echocardiographer recommended a	3 cultures, and that he had a transesophageal echo
4 followup TEE; correct?	4 that was not compelling for the diagnosis.
5 A. That is what the statement says.	5 Q. What indicated to you that he was
6 Q. Do you have an opinion as to whether a	6 clinically getting better?
7 followup transesophageal echo after the February	7 A. Temperatures were trending down. And
8 24th, 98 one that we just reviewed would have	8 in my note, on the first page, it says energy
9 shown any changes in that echo density?	9 increased, quote, "I feel well," unquote.
0 MR. POLITO: Objection. What date	0 Q. Now, when you saw him on the 26th, do
1 after? Are we talking three months after? Are	1 you know whether you had any blood cultures to
2 we talking a week after or what?	2 refer to?
3 MS. TOSTI: While he was still in the	3 A. Yes. My note reflects the fact that
4 hospital, as a followup echo prior to discharge.	4 there were eight negative blood cultures from
5 A. You mean within the three days	5 February 23rd, and that there were more cultures
6 subsequent to discharge?	6 that were cooking from the 25th.
7 Q. It was done on the 24th. He was	7 Q. Do you know whether Gregory Colvin
8 discharged on the 27th.	8 received any IV antibiotics prior to the time
9 <b>A.</b> You're asking me do I believe that a	9 that some of those blood cultures were drawn?
0 followup study over that 72-hour time frame would	0 A. He did. He received several doses of
1 have shown a materially different finding?	1 Ampicillin and gentamycin after he was admitted
2 Q. Yes.	2 on February 23rd, but my notes reflect that those
3 A. Yes, I have an opinion about that.	3 medications were stopped the following day,
4 Q. What's your opinion?	4 February 24th.
5 A. It would not have.	5 Q. And would you agree that if Gregory

_ULY 6,2000	CondenseIt! <sup>TM</sup>	DAVID LONGWORTH, M.D.
	Page 45	Page 47
1 Colvin received IV antibiotics prior to having	1 correct?	Ç
2 his blood cultures done, or some of those blood	2 A. C	orrect.
3 cultures done, that those blood cultures obtained	3 Q. W	Thy did you want to rule out urinary
4 after the antibiotics may have been falsely	4 tract inf	• •
5 negative?	5 A. H	e had the unexplained hematuria.
6 A. It's conceivable. Usually a dose or	6 Q. Is	hematuria associated with
7 two doesn't make a difference. It's more	7 prosthet	ic valve endocarditis?
8 prolonged courses that would make a difference	8 A. It	can be.
9 and reduce the sensitivity,	9 Q.A	nd what was your plan of care after
10 Q. Do you know how long Gregory Colvin	10 you saw	him on the 26th?
11 had the stitch abscess prior to the time that you	11 A. W	e weren't sure what was going on, and
12 drained it?	12 we want	ed to observe him off antibiotics since he
13 A. No.	13 was gett	ing better. We suggested sending a urine
14 Q. Is it your understanding that the	14 culture.	And then with the comment that if you
15 stitch was present at the time of his discharge	15 send hin	n out, follow his temperature diary,
16 from the hospital for his valve surgery?	16 having h	im take his temperature, and to recheck
17 A. I don't know that.	17 blood cu	ltures off antibiotics in a few days.
18 Q. Would an abscess at the site of a	18 Q. A	nd why did you suggest the
19 prior central line catheter place Gregory Colvin	19 tempera	ture diary?
20 at increased risk for developing prosthetic valve	20 A. B	ecause he had come into the hospital
21 endocarditis?	21 with tem	perature, and although he was getting
A. If he became bacteremic from that, it	22 better, h	ad he continued to have fever, it would
23 could be a source for seeding the line, yes.	23 have ma	ndated further workup.
24 Q. Now, when you saw Gregory Colvin on	24 Q. A	nd would that further workup include
25 February 26th, were you able to rule out	25 a further	workup for prosthetic valve
	Page 46	Page 48
1 bacterial endocarditis, prosthetic valve	1 endocar	ditis?
2 endocarditis, in his case?	2 A. W	Vell, I suggested they recheck his
3 A. No.	3 blood cu	ltures, so that would have been further
4 Q. What is postcardiotomy syndrome?	4 workup	for that.
5 A. Postcardiotomy syndrome is a syndrome	-	nd did you have some concern that the
6 of fever that occurs classically ten days to six	· · ·	loses of antibiotics that he had may have
7 weeks following open heart surgery that probably		e impact on the results of his blood
8 reflects inflammation of the pericardium and	8 cultures	
9 pleura that is a clinical diagnosis made by		did not state that explicitly, but
10 excluding other causes of fever and ideally		that I suggested that he have more blood
11 hearing a pleural or a pericardial friction rub,		obtained speaks to that concern.
12 which were not heard in this case, but which are		ow, did you see Gregory Colvin again
13 not invariably present.	13 on the 2'	
14 Q. Are there any other symptoms other	114 A. Y	
15 than the elevated fever, the friction rub that		nd there's a note by Dr. Poggi that
16 you have just mentioned, anything else you	16 is dated	
17 observed?		he 26th, which I redated the 27th.
18 A. Sometimes they have chest pain. Often	18 He misd	
19 they have fever and feel quite well and not ill,		o you made a correction to his note?
20 which is why it's on the differential diagnosis		orrect. And his note is anotated by
21 here, and such patients may have an elevated		ou can see. And then I have a note on
22 erythrocyte sedimentation rate,	22 the follo	
<ul><li>Q. Now, you also in your assessment on</li><li>the 26th, I think under item number 3, indicated</li></ul>		ow, on about the fifth line of Dr.
25 rule out urinary tract infection; is that		note, there is a notation that he's made e skin, and there's a checkmark next to
		e skin, and there's a checkmark next to

DAV	ID LONGWORTH, M.D	Conde	ense	It! <sup>™</sup>	JULY 6.2000
		Page 49			Page 51
1 tha	at.	-	1 e	excellent.	-
2	A. Right.		2	Q. An	d was there more pus expressed from
3	Q. Did you make that checkmark?		3 ť	he wound	1 at the visit on the 27th that you
4	A. I did.		4 n	nade?	
5	Q. Why did you make that checkmark		5		t by me. The note reflects suture
6 the				-	removed this morning with more pus,
7	A. To confirm that I had noted his				at would have been done earlier by Dr.
8 ob	servation.				nink, in looking at his record, But
9	Q. And that is your handwriting also in		1		w the patient at 9:50 in the morning on
	e left margin?		1	•	the site was fine.
11	A. Correct.		11		w, after your visit on the 27th,
12	Q. Would you read what you wrote there?		1		your plan of care for Mr. Colvin?
13	A. No murmur or rub. Chest clear without		13		understanding was that he was being
1	b. No stigmata of infective endocarditis.		1	-	d and was going to go back to the care
	me definition as before.		1	-	nary physicians at Kaiser.
16	Q. Now, about five lines from the bottom		16		the time that you saw him, did you
1	Dr. Poggi's note, he makes reference to the				he was going to be discharged?
1	tch manually removed.		18	•	note says plan for discharge noted.
19	A. Right.		19		d the plan was that he would then
20	Q. Do you see that?		1		owup blood cultures after he was
21	A. I do.			-	d by his primary care physicians?
22 23 sit	Q. From the prior right inner jugular		22		ell, that would be at the discretion
				-	nary care physicians. We had made the
24 25	<ul><li>A. Right.</li><li>Q. Was that stitch removed on the 27th?</li></ul>		24 1 25 t		ndation the day before that we suggested
2.0	Q. Was that show removed on the 27th.	Page 50		mat.	Page 52
1	A. That is my understanding.	1 age 50	1	O Oth	her than what you have written in
2	Q. And you have no recollection of seeing		$\begin{vmatrix} 1\\ 2 \end{vmatrix}$	-	ess notes or clinical notes, did you or
1	at suture in the wound when you expressed the	a			specifically communicate the suggestion
	rulent material on the 26th; correct?	0	1		nendation about the blood cultures to the
5	A. Correct.				ysicians?
6	Q. Now, also at the bottom of Dr. Poggi's		6	-	on't recall. We don't have it
	te, just below his reference to the stitch		-		h't have a letter. We would have
1	ing manually removed, he has observe off				cated it to the primary team in the
	tibiotics inpatient or outpatient up to and			nospital h	
	n not sure		10	-	w would you normally do that?
11	A. Primary team.		11	A. Ver	
12	Q. And will want followup blood		12	Q. So	would that be you or would it be
13 cu	ltures. Who was the primary team in this		13 y	our resid	lent?
14 ca	se?		14	A. It v	yould generally be me. If I felt
15	A. The Kaiser physicians.		15 s	strongly a	bout something, it would be me. I
16	Q. Now, you also wrote a note on February		16 d	lon't rem	ember speaking to who I would have
17 27	th; correct?		17 s	poken to	
18	A. I did.		18		his particular case, in your
19	Q. And did you, again, conduct a physical		1	-	lid Mr. Colvin need followup by an
	am of Gregory Colvin when you saw him on	the			disease specialist?
21 27			21		t necessarily. A general internist
22	A. I did.				ve handled this and followed him. And
23	Q. And in regard to his stitch abscess,				urther blood cultures and further
	hat were your findings?				ography would have been dictated by his
25	A. My comment says the site now looks		125 C	elinical co	burse. If he got better, his fevers

JUI	LY 6, 2000	Conde	ns	eIt! <sup>TM</sup> DAVID LONGWORTH, M.D
		Page 53		Page 55
1 1	went away, he was fine, I would have felt that	C	1	appropriate.
2 1	those followup studies were not mandatory.		2	With regard to your legal definition
3	Q. If he continued to have fever off and		3	of medical certainty, whether there was a 51
4 (	on, would blood cultures be warranted?			percent likelihood that I thought he did not have
5	A. Yes, ma'am.			prosthetic valve endocarditis, my note is saying
6	Q. And at the time that you saw him on			I doubt he has it, which means that I didn't
7 t	the 27th, was it your expectation that, after			think he had it at that time.
8 0	discharge, the Kaiser physicians would take		8	Q. Were you able ever to determine what
9 1	responsibility for following up with blood		9	was causing his elevated temperatures?
10 0	cultures if it was clinically indicated?		10	A. Well, I only saw him on the 26th and
11	A. Yes, ma'am.		11	the 27th, and I think my notes on that day said
12	Q. Now, doctor, your note, or I believe			that I thought the differential diagnosis at that
13 ]	Dr. Poggi's note, indicates that your first		13	time was between a stitch abscess or
14 1	note on, I think, the 26th, recheck blood		14	postcardiotomy syndrome.
15 0	cultures after he was off antibiotics in a few		15	
16 0	days. I think that's at the tail end of your one		16	erythrocyte sedimentation rate, I believe, on the
	note.			23rd at the time that he came in. Do you believe
18	A. I testified to that five minutes ago			that that erythrocyte sedimentation rate was
19 t	that that was my recommendation.			elevated because of those two things: The stitch
20	Q. I have a followup question. I'm just			abscess
21 0	directing your attention to that statement right		21	A. It would have been possible.
	now.		22	Q or postcardiotomy syndrome?
23	A. Yes.		23	A. Yes.
24	Q. Did you anticipate that, regardless of		24	Q. Do you have any recollection of having
25 1	his clinical condition, after he was discharged,		25	any contact with Dr. Kruithoff regarding your
·· ·		Page 54		Page 56
1 1	within a few days, he was going to have another	Ŭ,	1	evaluations of Gregory Colvin on either the 26th
	blood culture?			or the 27th?
3	A. Implicit in that is that if he		3	A. No.
4 1	remained febrile, he would have followup blood		4	Q. Would it be your usual procedure to
1	cultures.		5	contact the attending cardiologist on a patient
6	Q. If he was discharged and did not have			when you consulted on them?
7	a fever for the next couple of days, then it was		7	A. It depends upon the circumstances.
1	your expectation that blood cultures would not		8	Not always.
	necessarily be needed?		9	Q. In this particular instance, is there
10	A. Correct.		10	anything in the record that would indicate to you
11	Q. And at the time that you last saw Mr.		11	
1	Colvin, were you able to rule out bacterial		12	<b>A.</b> No.
	endocarditis?		13	Q in regard to your assessment?
14	A. With a hundred percent certainty; is		14	
15 1	that your question?		15	
16	Q. Well, whatever you as a physician			contact with any other Kaiser physicians other
17 1	ruling out entails. Were you able to rule out			than Dr. Kruithoff either?
	bacterial endocarditis in Mr. Colvin's case prior	r	18	A. That's correct.
	to the time he was discharged from the hospital		19	Q. Now, doctor, did you provide any care
20	A. My note says I doubt he has prosthetic			to Gregory Colvin when he was readmitted to
	valve endocarditis, but I also suggested		21	
	following him and observing him. So the answe	er	22	<b>A.</b> No.
	to that question is, with one hundred percent		23	Q. Did you see him at any time during
	certainty, I did not rule it out, and I was			that admission?
8	concerned enough to say that followup is		.25	A. No.

Page 57       Page 57         1       A. No.       2       Oby our have an opinion as to what         3       during that admission?       4       A. No.         4       A. Not to my recollection.       5       Q. Do you have an opinion as to what         5       Q. And I think I know what the answer to       6       6       6         6       this is, but do you have any opinions as to       7       6       6         7       whether it was appropriate for him to be       8       6       death with any of the physicians at Kaiser or the         7       seen on May 10th?       7       1       A. Not.       8       A. Not.         10       A. I don't know the details of that       11       10       endocarditis had been treated successfully, and         11       2       Did you at any time speak to Gregory       16       2       Op you have an opinion as to what         13       mea minute here.       13       13       in accutally, and       11       by that is your understanding as to what         14       (Pausc.)       15       Q. Do you have an opinion as to what       13       him recolerate to Gregory Colvin?         16       A. No I recall. L's conceivable they       16       A. No.       18       Q. D	DA	AVID LONGWORTH. M.D. Conde	ens	seI	[t! <sup>™</sup>	JULY 6. 2	000
1       Q. Were you consulted, even if you did         2 not see him, were you consulted at any time       Q. Do you have an opinion as to what         3 during that admission?       3 caused his death?         4       A. Not to my recollection.       5         5       Q. And I think I know what the answer to       6         6 this is, but do you have any opinions as to       7         7 whether it was appropriate for him to be       8         8 discharged from the emergency room when he was       9         9 seen on May 10th?       6         10 A. I don't know the details of that       1         11 evaluation, so I have no opinion.       1         12       MS, TOSTI: Th celting, so just give       8         13 me a minute here.       11         14       (Pause.)       15         15       Q. Did you at any time speak to Gregory       15         16 Colvin's family?       16 that rendered care to Gregory Colvin?         17       A. I don't recember.       1         19       how have cany lane-negative staphylococcal       A. What complications?         20       Q. What is your understanding as to what       2         21       A. Hane ve canylane-negative staphylococcal       A. What complications.         22						Pag	e 59
2       Q. Do you have an opinion as to what         3       during that admission?         4       A. Not to my recollection.         5       Q. And I think I know what the answer to         6       fit is is, but do you have any opinions as to         7       whether it was appropriate for him to be         8       discharged from the emergency room when he was         9       seen on May 10th?         10       A. I don't know the details of that         11       evaluation, so I have no opinion.         12       MS. TOSTE: Fm editing, sojust give         13       me a minute here.         14       (Pause.)         15       Q. Did you at any time speak to Gregory         16       Colvin's family?         17       A. I don't recall. It's conceivable they         18       were at the bedside when I was there, bu I         19       honestly don't remember.         20       A. All I know is that he ultimately was         34       found to have coagulase-negative staphylococcal         25       you don ot have an opinion as to what         1       Q. And where did you receive that         1       formation?         3       A. I received that just verbally in the	1	-	1	1	A. No		,• • • •
3 during that admission?       3 caused his death?         4 A. Not omy recollection.       5 Q. And I think I know what the answer to         6 this is, but do you have any opinions as to       5 Q. Did you ever discuss Gregory Colvin's         8 discharged from the emergency room when he was       9 seen on May 10th?         0 A. I don't know the details of that       11         11 evaluation, so I have no opinion.       9         12 M8, TOSTI: I'm editing, sojust give       18         13 me a minute here.       14         14 (Pause.)       15         15 Q. Did you at any time speak to Gregory       16         16 Colvin's family?       17         17 A. T don't recall. It's conceivable they       18         18 were at the bedside when I was there, but I       19         19 honestly don't remember.       10         20 Q. What is your understanding as to what       21         21 happened to Gregory Colvin when he was readmitted       22         23 n. A. II I know is that he ultimately was       23         3 d course of discussions here with counsel.       5         5 Q. Doctor, I think you merioned       1         4 course of discussions here with counsel.       5         5 Q. Doctor, I think you merioned       5         6 previously, and I just wart to confirm	2	• •	2	2	Q. D	b you have an opinion as to what	
5       Q. And I think I know what the answer to       5       Q. Did you ever discuss Gregory Colvin's         6 this is, but do you have any opinions as to       7       6 death with any of the physicians at Kaiser or the         7       Whether I was appropriate for him to be       8       6 death with any of the physicians at Kaiser or the         8       discharged from the emergency room when he was       9       9       0. If Gregory Colvin's prosthetic valve         9       0. A. I don't know the details of that       10       10       hot court dist had been treated successfully, and         11       evaluation, so I have no opinion.       12       Only invite here.       13       14       A. Not to my recollection.         13       m a minute here.       14       (Pause.)       12       complications, do you have an opinion as to what         15       Q. Did you at any time speak to Gregory       16       hot rendered care to Gregory Colvin in any way         16       Colvin's family?       17       A. No.       8       Q. Do you blame Gregory Colvin in any way         19       hore the bedside when I was there, but I       19       for the complications?       1         21       hopy ou'n member.       19       6       Q. What is your understanding as to what       2       A. What complications? <tr< td=""><td>3</td><td>during that admission?</td><td>3</td><td>s ca</td><td></td><td></td><td></td></tr<>	3	during that admission?	3	s ca			
6 this is, but do you have any opinions as to       6 death with any of the physicians at Kaiser or the         7 whether it was appropriate for him to be       6 death with any of the physicians at Kaiser or the         7 whether it was appropriate for him to be       7 (Leveland Clinic?         8 discharged from the emergency room when he was       9 g. If Gregory Colvin's prosthetic valve         10 A. I don't know the details of that       10 endocarditis had been treated successfully, and         11 evaluation, so I have no opinion.       11 by that I mean infection was cured without         12 omplications, do hype any time speak to Gregory       14 (Pause.)         15 Q. Did you at any time speak to Gregory       15 Q. Do you have any criticism of anyone         16 doath recall. It's conceivable they       18 were at the bedside when I was there, but I         19 honestly don't remember.       19 of the complications that he suffered?         20 Q. What is your understanding as to what       18 Q. Do you blawe any criticism of anyone         11 happened to Gregory Colvin when he was readmitted       21 carl' answer that. I don't know         21 posthetic valve endocarditis and passed away.       23 A. I carl' answer that. I don't know         25 prosthetic valve endocarditis and passed away.       26 Mat happened after I saw Mr. Colvin in February.         25 ocleveland Clinic?       23 M. RoULTO: No questions.         4 course of discussions here with counse	4	A. Not to my recollection.	4	ł	A. No	Э.	
7       whether it was appropriate for him to be 8       7       Cleveland Clinic?         8       seen on May 10th?       8       A. No to my recollection.         9       seen on May 10th?       9       G. If Gregory Colvin's prosthetic valve         10       A. I don't know the details of that       11       evaluation, so I have no opinion.       12         11       evaluation, so I have no opinion.       11       by that I mean infection was cured without         12       May 10th?       9       O. If Gregory Colvin's prosthetic valve         13       me a minute here.       11       by that I mean infection was cured without         13       Me any time speak to Gregory       16       A. No, actually.         14       A. I don't recall. It's conceivable they       15       O. Do you have any criticism of anyone         15       A. Idon't recall. It's conceivable they       18       O. Do you blawe dregory Colvin in any way         19       honestly don't remember.       10       O. Do you blawe dregory Colvin in any way         19       hone to have congulase-negative staphylococcal       20       A. What complications?         21       to Cleveland Clinic?       23       A. I can't answer that. I don't know         23       a. All Tknow is that he ultimately was       24<	5	Q. And I think I know what the answer to	5	;	Q. D	id you ever discuss Gregory Colvin's	
8 discharged from the emergency room when he was       9         9 seen on May 10th?       0         10 A. I don't know the details of that       1         11 evaluation, so I have no opinion.       9         12 MS. TOSTI: Fm editing, sojust give       11         13 me a minute here.       14         14 (Pause.)       15         15       Q. Did you at any time speak to Gregory         16 Colvin's family?       15         17 A. I don't recall. It's conceivable they         18 were at the bedside when I was there, but I         19 honestly don't remember.         20 Q. What is your understanding as to what         21 happened to Gregory Colvin when he was readmitted         22 to Cleveland Clinic?         23 A. All I know is that be ultimately was         24 found to have coagulase-negative staphylococcal         25 prosthetic valve endocarditis and passed away.         26 Q. And where did you receive that         2 information?         3 A. I received that just verbally in the         4 course of discussions here with counsel.         5 Q. Doctor, I think you mentioned         6 previously, and J just want to confirm this, that         7 you do not have an opinion as to when Gregory         27 A. I lave an opinion that lid don think <t< td=""><td>6</td><td>this is, but do you have any opinions as to</td><td></td><td></td><td></td><td></td><td></td></t<>	6	this is, but do you have any opinions as to					
9 seen on May 10th?       9       Q. If Gregory Colvin's prosthetic valve         10       A. I don't know the details of that       10         11       evaluation, so I have no opinion.       11         12       MS. TOSTE: I'm editing, sojust give       12         13       me a minute here.       13         14       (Pause.)       14       A. No, actually.         15       Q. Did you at any time speak to Gregory       16       A. No, actually.         16       Colvin's family?       17       A. I don't recall. It's conceivable they       18         18       were at the bedside when I was there, but I       18       Q. Do you blave any criticism of anyone         16       hoart recall. It's conceivable they       18       Q. Do you blave eany criticism of anyone         16       hoart recall. It's conceivable they       18       Q. Do you blave eany criticism of anyone         19       honestly don't remember.       18       Q. Do you blave eany criticism of anyone         20       Q. What is your understanding as to what       18       Q. Dot you blave eany criticism of anyone         21       hoart oth ave coagulase-negative staphylococcal       22       valve endocarditis and ventually he developed prosthetic         21       A. It an't nawe any furither       Page 58		· · ·	7	' C	Clevelar	d Clinic?	
10       A. I don't know the details of that       10       evaluation, so I have no opinion.         11       evaluation, so I have no opinion.       11       by that I mean infection was cured without         12       MS. TOSTE: I'm editing, so just give       12       complications, do you have an opinion as to what         13       me a minute here.       13       his reasonable life expectancy would have been?         14       (Pause.)       15       Q. Did you at any time speak to Gregory       16         16       Colvin's family?       16       that rendered care to Gregory Colvin in any way         19       honestly don't remember.       18       Q. Do you blave any criticism of anyone         10       colvin's family?       16       that rendered care to Gregory Colvin in any way         19       honestly don't remember.       18       Q. Do you blame Gregory Colvin in any way         19       for the coregory Colvin when he was readmitted       20       A. What complications?         21       to Cleveland Clinic?       21       Q. Ultimately he developed prosthetic         22       A. All I know is that he ultimately was       23       A. I can't answer that. I don't have any further         23       A. All I know is that precise that       1 questions for you, doctor, but one of the other         <			8	3	A. No	ot to my recollection.	
11       evaluation, so I have no opinion.       11       by that I mean infection was cured without         12       MS. TOSTI: I'm editing, so just give       13       his reasonable life expectancy would have been?         14       (Pause.)       14       A. No, actually.       15       Q. Do you have an opinion as to what         15       Q. Did you at any time speak to Gregory       16       Colvin's family?       17       A. I don't recall. It's conceivable they         18       were at the bedside when I was there, but I       18       Q. Do you have any criticism of anyone         16       that rendered care to Gregory Colvin?       17       A. No.         19       honestly don't remember.       19       for the complications?       20         21       happened to Gregory Colvin when he was readmitted       21       Q. Utimately he developed prosthetic         22       valve endocarditis and passed away.       23       A. I Lan't answer that. I don't know         23       A. All I know is that he ultimately was       34       4       4         24       found to have coagulase-negative staphylococcal       25       MS. TOSTI: I don't have any further         25       prosthetic valve endocarditis and passed away.       2       MS. TOSTI: I don't have any further         25       Q. Dotoro	9	•	-			• • •	
12       MS. TOSTI: I'm editing, so just give       12       complications, do you have an opinion as to what         13       me a minute here.       14       (Pause.)         14       (Pause.)       14       A. No, actually.         15       Q. Did you at any time speak to Gregory       16       Colvin's family?         16       Colvin's family?       15       Q. Do you have any criticism of anyone         16       Colvin's family?       17       A. I don't recall. It's conceivable they       18         18       were at the bedside when I was there, but I       19       9       Do you blame Gregory Colvin in any way         19       honestly don't remember.       20       A. What complications?       21         21       happened to Gregory Colvin when he was readmitted       22       valve endocarditis and eventually he died.         22       valve endocarditis and passed away.       25       Valve endocarditis and passed away.       25         25       prosthetic valve endocarditis and passed away.       25       Ms. TOSTI: I don't have any further         26       1       Q. And where did you receive that       1       questions for you, doctor, but one of the other         2       information?       3       A. I cereived that just verbally in the       4       MS.						•	
13 me a minute here.       13 his reasonable life expectancy would have been?         14 (Pause.)       13 his reasonable life expectancy would have been?         15 Q. Did you at any time speak to Gregory       14 A. No, actually.         15 Q. Did you at any time speak to Gregory       14 A. No, actually.         16 Colvin's family?       15 A. I don't recall. It's conceivable they       15 Q. Do you have any criticism of anyone         16 Nonsetly don't remember.       16 that rendered care to Gregory Colvin in any way         19 honestly don't remember.       17 A. No.         20 Q. What is your understanding as to what       18 Q. Do you blame Gregory Colvin in any way         14 happened to Gregory Colvin when he was readmitted       21 Q. Ultimately he developed prosthetic         22 valve endocarditis and eventually he died.       23 A. I any ser that. I don't know         24 found to have coagulase-negative staphylococcal       24 what happened after I saw Mr. Colvin in February.         25 prosthetic valve endocarditis and passed away.       25 M. ToSTI: I don't have any further         26 Q. Dotor, I think you mentioned       1 questions for you, doctor, but one of the other         2 atorneys may.       3 M. POLITO: No questions.         3 Q. Dot at a enopinion as to when Gregory       7 (Signature not waived.)         9 endocarditis; is that correct?       9         9 endocarditis; is that correct?	11	*		-	•		
14       (Pause.)       14       A. No, actually.         15       Q. Did you at any time speak to Gregory       15       Q. Do you have any criticism of anyone         16       Colvin's family?       1       A. I don't recall. It's conceivable they       16       16       that rendered care to Gregory Colvin?       17       A. No.         18       were at the bedside when I was there, but I       18       Q. Do you blame Gregory Colvin in any way         19       honnestly don't remember.       19       for the complications that he suffered?         20       Q. What is your understanding as to what       12       Q. Do you blame Gregory Colvin in any way         19       for the complications that he suffered?       20       A. What complications?         21       a. Main Kappend aftered?       20       A. What complications and eventually he died.         22       to Cleveland Clinic?       23       A. I can't answer that. I don't know         24       found to have coagulase-negative staphylococcal       24       what happened after I saw Mr. Colvin in February.         25       prosthetic valve endocarditis and passed away.       25       MR. POLITO: No questions.       4         2       information?       3       MR. POLITO: No questions.       5         3       Q. Dotor, I think					-		
15       Q. Did you at any time speak to Gregory       15       Q. Do you have any criticism of anyone         16       Colvin's family?       17       A. I don't recall. It's conceivable they       18       Q. Do you blame Gregory Colvin in any way         19       honestly don't remember.       17       A. No.       18       Q. Do you blame Gregory Colvin in any way         19       honestly don't remember.       19       for the complications that he suffered?       20         20       Q. What is your understanding as to what       21       Q. Ultimately he developed prosthetic       22         21       to Cleveland Clinic?       22       valve endocarditis and eventually he died.       23         23       A. All I know is that he ultimately was       23       A. I can't answer that. I don't know         24       found to have coagulase-negative staphylococcal       24       what happened after I saw Mr. Colvin in February.         25       most of discussions here with counsel.       1       questions for you, doctor, but one of the other         2       attorneys may.       3       MR. POLTD: No questions.       5         3       Q. And where did you receive that       3       MR. POLTD: No questions.       5         4       course of discussions here with counsel.       5       MR. SCATULAS: No qu						- ·	
16 Colvin's family?       16 that rendered care to Gregory Colvin?         17 A. I don't recall. It's conceivable they       18 were at the bedside when I was there, but I         18 were at the bedside when I was there, but I       18 Q. Do you blame Gregory Colvin in any way         19 honestly don't remember.       18 Q. Do you blame Gregory Colvin in any way         20 Q. What is your understanding as to what       18 Q. Do you blame Gregory Colvin in any way         21 happened to Gregory Colvin when he was readmitted       20 A. What complications that he suffered?         20 A. All I know is that he ultimately was       21 Q. Ultimately he developed prosthetic         21 to Cleveland Clinic?       23 A. All ran't answer that. I don't know         24 found to have coagulase-negative staphylococcal       23 A. I can't answer that. I don't know         25 mosthetic valve endocarditis and passed away.       25 MS. TOSTI: I don't have any further         Page 58         1 Q. And where did you receive that       1 questions for you, doctor, but one of the other         2 information?       3 MR. POLITO: No questions.         3 A. I received that just verbally in the       3 MR. POLITO: No questions.         4 course of discussions here with counsel.       5 MR. SKIVER: He'll review it.         6 previously, and Just want to confirm this, that       6 THE WTNESS: Thank you.         7 you do not have an opinion that I did not thi						•	
17       A. I don't recall. It's conceivable they       17       A. No.         18       were at the bedside when I was there, but I       18       Q. Do you blame Gregory Colvin in any way         19       honestly don't remember.       19       for the complications that he suffered?         20       Q. What is your understanding as to what       20       A. What complications?         21       happened to Gregory Colvin when he was readmitted       20       A. What complications?         21       Q. Ultimately he developed prosthetic       22       valve endocarditis and eventually he died.         23       A. All I know is that he ultimately was       23       A. I can't answer that. I don't know         24       what happened after I saw Mr. Colvin in February.       25       Ms. TOSTI: I don't have any further         25       Page 58       Page 58       Page 6         1       Q. And where did you receive that       1       questions for you, doctor, but one of the other         2       information?       3       MR. POLITO: No questions.       5         5       Q. Doctor, I think you mentioned       5       MR. SKIVER: He'll review it.         6       previously, and I just want to confirm this, that       6       THE WTINESS: Thank you.         7       you do not have an opinion							
18       were at the bedside when I was there, but I       18       Q. Do you blame Gregory Colvin in any way         19       honestly don't remember.       19       for the complications?         20       Q. What is your understanding as to what       20       A. What complications?         21       happened to Gregory Colvin when he was readmitted       20       A. What complications?         21       Q. Ultimately he developed prosthetic       22       Q. Ultimately he developed prosthetic         22       A. All I know is that he ultimately was       23       A. I can't answer that. I don't know         24       found to have coagulase-negative staphylococcal       24       what happened after I saw Mr. Colvin in February.         25       prosthetic valve endocarditis and passed away.       25       MS. TOSTI: I don't have any further         26       Q. And where did you receive that       1       questions for you, doctor, but one of the other         2       information?       2       attorneys may.       3       MR. POLITO: No questions.         4       course of discussions here with counsel.       4       MS. CARULAS: No questions.       5         5       Q. Doctor, I think you mentioned       5       MR. SKIVER: He'll review it.       6         6       previously, and I just want to confirm this, tha		•				•••	
19 honestly don't remember.       19 for the complications that he suffered?         20 Q. What is your understanding as to what       11 happened to Gregory Colvin when he was readmitted         21 to Cleveland Clinic?       20 A. All I know is that he ultimately was         23 A. All I know is that he ultimately was       21 A. I can't answer that. I don't know         24 found to have coagulase-negative staphylococcal       22 what happened after I saw Mr. Colvin in February.         25 prosthetic valve endocarditis and passed away.       25 MS. TOSTI: I don't have any further         Page 58         1 Q. And where did you receive that       1 questions for you, doctor, but one of the other         2 information?       3 MR. POLITO: No questions.         3 A. I received that just verbally in the       3 MR. POLITO: No questions.         4 course of discussions here with counsel.       4 MS. CARULAS: No questions.         5 Q. Doctor, I think you mentioned       5 MR. SKIVER: He'll review it.         6 previously, and I just want to confirm this, that       6 THE WITNESS: Thank you.         7 you do not have an opinion as to when Gregory       7 (Signature not waived.)         9 endocarditis; is that correct?       9         10 A. I have an opinion that I did not think       10         11 was infected at the time that I saw him.       11         12 Q. But after the point that you last saw <td></td> <td></td> <td></td> <td></td> <td></td> <td></td> <td></td>							
20       Q. What is your understanding as to what       20       A. What complications?         21       happened to Gregory Colvin when he was readmitted       21       Q. Ultimately he developed prosthetic         22       to Cleveland Clinic?       22       valve endocarditis and eventually he died.         23       A. All I know is that he ultimately was       23       A. I can't answer that. I don't know         24       found to have coagulase-negative staphylococcal       23       Ms. TOSTI: I don't have any further         25       prosthetic valve endocarditis and passed away.       25       MS. TOSTI: I don't have any further         26       1       Q. And where did you receive that       1       questions for you, doctor, but one of the other         2       information?       2       attorneys may.       3       MR. POLITO: No questions.         3       A. I received that just verbally in the       3       MR. POLITO: No questions.       4         4       course of discussions here with counsel.       4       MS. CARULAS: No questions.       5         5       Q. Doctor, I think you mentioned       5       MR. SKIVER: He'll review it.       6         6       previously, and I just want to confirm this, that       6       THE WITNESS: Thank you.       7         7       you do							
21 happened to Gregory Colvin when he was readmitted       21 Q. Ultimately he developed prosthetic         22 to Cleveland Clinic?       22 valve endocarditis and eventually he died.         23 A. All I know is that he ultimately was       23 A. I can't answer that. I don't know         24 found to have coagulase-negative staphylococcal       23 A. I can't answer that. I don't know         25 prosthetic valve endocarditis and passed away.       25 MS. TOSTI: I don't have any further         Page 58         1 Q. And where did you receive that       1 questions for you, doctor, but one of the other         2 information?       2 attorneys may.         3 A. I received that just verbally in the       3 MR. POLITO: No questions.         4 course of discussions here with counsel.       4 MS. CARULAS: No questions.         5 Q. Doctor, I think you mentioned       5 MR. SKIVER: He'll review it.         6 previously, and I just want to confirm this, that       6 THE WITNESS: Thank you.         7 you do not have an opinion as to when Gregory       9         10 A. I have an opinion that I did not think       10         11 he was infected at the time that I saw him.       11         12 Q. But after the point that you last saw       12         13 him       13         14 A. I don't have an opinion as to when he actually       14         15 Q. After the point that you la		•				-	
22 to Cleveland Clinic?       22 valve endocarditis and eventually he died.         23 A. All I know is that he ultimately was       24 found to have coagulase-negative staphylococcal       23 A. I can't answer that. I don't know         24 found to have coagulase-negative staphylococcal       25 MS. TOSTI: I don't have any further         25 prosthetic valve endocarditis and passed away.       26 MS. TOSTI: I don't have any further         26 prosthetic valve endocarditis and passed away.       27 MS. TOSTI: I don't have any further         26 prosthetic valve endocarditis and passed away.       28 MS. TOSTI: I don't have any further         27 grade for the just verbally in the       1 questions for you, doctor, but one of the other         2 information?       3 MR. POLITO: No questions.         4 course of discussions here with counsel.       4 MS. CARULAS: No questions.         5 Q. Doctor, I think you mentioned       5 MR. SKIVER: He'll review it.         6 previously, and I just want to confirm this, that       6 THE WITNESS: Thank you.         7 you do not have an opinion as to when Gregory       8 (Deposition concluded at 3:02 o'clock p.m.)         9 endocarditis; is that correct?       9         10 A. I have an opinion that I did not think       10         11 him       13         12 Q. But after the point that you last saw       12         13 him       13         14 A. I d						-	
23A. All I know is that he ultimately was 24 found to have coagulase-negative staphylococcal 25 prosthetic valve endocarditis and passed away.23A. I can't answer that. I don't know 24 what happened after I saw Mr. Colvin in February. 							
24 found to have coagulase-negative staphylococcal       24 what happened after I saw Mr. Colvin in February.         25 prosthetic valve endocarditis and passed away.       25 MS. TOSTI: I don't have any further         Page 58         1       Q. And where did you receive that       1 questions for you, doctor, but one of the other         2       attorneys may.       3       A. I received that just verbally in the       3       MR. POLITO: No questions.         4       course of discussions here with counsel.       4       MS. CARULAS: No questions.         5       Q. Doctor, I think you mentioned       5       MR. SKIVER: He'll review it.         6       previously, and I just want to confirm this, that       6       THE WITNESS: Thank you.         7       you do not have an opinion as to when Gregory       8       (Deposition concluded at 3:02 o'clock p.m.)         9       endocarditis; is that correct?       9          10       A. I have an opinion that I did not think       10         11       page the point that you last saw       12         13       him       13         14       A. I don't have an opinion go ahead.       14         15       Q. After the point that you last saw him,       15         16       you do not have an opinion as to when he actu						•	
25 prosthetic valve endocarditis and passed away.       25       MS. TOSTI: I don't have any further         Page 58       Page 58       Page 6         1       Q. And where did you receive that       1 questions for you, doctor, but one of the other         2 information?       2 attorneys may.         3       A. I received that just verbally in the       3       MR. POLITO: No questions.         4 course of discussions here with counsel.       4       MS. CARULAS: No questions.         5       Q. Doctor, I think you mentioned       5       MR. SKIVER: He'll review it.         6       previously, and I just want to confirm this, that       6       THE WITNESS: Thank you.         7       you do not have an opinion as to when Gregory       8       (Deposition concluded at 3:02 o'clock p.m.)         9       endocarditis; is that correct?       9          10       A. I have an opinion that I did not think       10         11       L2       Q. But after the point that you last saw       12         13       14       A. I don't have an opinion as to when he actually       16         16       you do not have an opinion as to when he actually       16         17       developed the prosthetic valve endocarditis;       17         18       correct?       18		•					
Page 58Page 61Q. And where did you receive that1 questions for you, doctor, but one of the other2information?2 attorneys may.3A. I received that just verbally in the3MR. POLITO: No questions.4course of discussions here with counsel.4MS. CARULAS: No questions.5Q. Doctor, I think you mentioned5MR. SKIVER: He'll review it.6previously, and I just want to confirm this, that6THE WITNESS: Thank you.7you do not have an opinion as to when Gregory8(Deposition concluded at 3:02 o'clock p.m.)9endocarditis; is that correct?910A. I have an opinion that I did not think1011him1314A. I don't have an opinion as to when he actually1415Q. After the point that you last saw him,1516you do not have an opinion as to when he actually1617developed the prosthetic valve endocarditis;1718correct?18					-		
1Q. And where did you receive that1questions for you, doctor, but one of the other2information?2attorneys may.3A. I received that just verbally in the3MR. POLITO: No questions.4course of discussions here with counsel.4MS. CARULAS: No questions.5Q. Doctor, I think you mentioned5MR. SKIVER: He'll review it.6previously, and I just want to confirm this, that6THE WITNESS: Thank you.7you do not have an opinion as to when Gregory8(Deposition concluded at 3:02 o'clock p.m.)9endocarditis; is that correct?910A. I have an opinion that I did not think101111Q. But after the point that you last saw121314A. I don't have an opinion go ahead.141515Q. After the point that you last saw him,1516you do not have an opinion as to when he actually1617developed the prosthetic valve endocarditis;1718correct?18						• • • • • • • • • • • • • • • • • • •	
2 information?2 attorneys may.3 A. I received that just verbally in the3 MR. POLITO: No questions.4 course of discussions here with counsel.3 MR. POLITO: No questions.5 Q. Doctor, I think you mentioned5 MR. SKIVER: He'll review it.6 previously, and I just want to confirm this, that6 THE WITNESS: Thank you.7 you do not have an opinion as to when Gregory7 (Signature not waived.)8 Colvin developed his prosthetic valve8 (Deposition concluded at 3:02 o'clock p.m.)9 endocarditis; is that correct?910 A. I have an opinion that I did not think1011 he was infected at the time that I saw him.1112 Q. But after the point that you last saw1213 him1314 A. I don't have an opinion as to when he actually1415 Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	1		1		unstion		ge 60
3A. I received that just verbally in the3MR. POLITO: No questions.4course of discussions here with counsel.4MS. CARULAS: No questions.5Q. Doctor, I think you mentioned5MR. SKIVER: He'll review it.6previously, and I just want to confirm this, that6THE WITNESS: Thank you.7you do not have an opinion as to when Gregory7(Signature not waived.)8Colvin developed his prosthetic valve8(Deposition concluded at 3:02 o'clock p.m.)9endocarditis; is that correct?910A. I have an opinion that I did not think1011he was infected at the time that I saw him.1112Q. But after the point that you last saw1213him1314A. I don't have an opinion as to when he actually1617developed the prosthetic valve endocarditis;1718correct?18	1			-		-	
4 course of discussions here with counsel.4MS. CARULAS: No questions.5 Q. Doctor, I think you mentioned5MR. SKIVER: He'll review it.6 previously, and I just want to confirm this, that6THE WITNESS: Thank you.7 you do not have an opinion as to when Gregory7(Signature not waived.)8 Colvin developed his prosthetic valve8(Deposition concluded at 3:02 o'clock p.m.)9 endocarditis; is that correct?910 A. I have an opinion that I did not think1011 he was infected at the time that I saw him.1112 Q. But after the point that you last saw1213 him1314 A. I don't have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	1		1		-	•	
5Q. Doctor, I think you mentioned5MR. SKIVER: He'll review it.6previously, and I just want to confirm this, that6THE WITNESS: Thank you.7you do not have an opinion as to when Gregory7(Signature not waived.)8Colvin developed his prosthetic valve8(Deposition concluded at 3:02 o'clock p.m.)9endocarditis; is that correct?910A. I have an opinion that I did not think1011he was infected at the time that I saw him.1112Q. But after the point that you last saw1213him1314A. I don't have an opinion as to when he actually1615Q. After the point that you last saw him,1516you do not have an opinion as to when he actually1617developed the prosthetic valve endocarditis;1718correct?18		<b>,</b>				-	
6 previously, and I just want to confirm this, that6THE WITNESS: Thank you.7 you do not have an opinion as to when Gregory7(Signature not waived.)8 Colvin developed his prosthetic valve8(Deposition concluded at 3:02 o'clock p.m.)9 endocarditis; is that correct?910A. I have an opinion that I did not think1011he was infected at the time that I saw him.1112Q. But after the point that you last saw1213 him1314A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18			5	5		1	
7 you do not have an opinion as to when Gregory7 (Signature not waived.)8 Colvin developed his prosthetic valve8 (Deposition concluded at 3:02 o'clock p.m.)9 endocarditis; is that correct?910 A. I have an opinion that I did not think1011 he was infected at the time that I saw him.1112 Q. But after the point that you last saw1213 him1314 A. I don't have an opinion go ahead.1415 Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18		-					
<ul> <li>8 Colvin developed his prosthetic valve</li> <li>9 endocarditis; is that correct?</li> <li>9</li> <li>10 A. I have an opinion that I did not think</li> <li>11 he was infected at the time that I saw him.</li> <li>12 Q. But after the point that you last saw</li> <li>13 him</li> <li>14 A. I don't have an opinion go ahead.</li> <li>15 Q. After the point that you last saw him,</li> <li>15 Q. After the point that you last saw him,</li> <li>15 que do not have an opinion as to when he actually</li> <li>16 you do not have an opinion as to when he actually</li> <li>17 developed the prosthetic valve endocarditis;</li> <li>17</li> <li>18 correct?</li> </ul>						•	
9 endocarditis; is that correct?910A. I have an opinion that I did not think1011he was infected at the time that I saw him.1112Q. But after the point that you last saw1213 him1314A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18						-	
10A. I have an opinion that I did not think1011he was infected at the time that I saw him.1112Q. But after the point that you last saw1213 him1314A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18			9	)	<b>`</b> 1		
12Q. But after the point that you last saw1213 him1314A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	10	A. I have an opinion that I did not think	10	)			
13 him1314A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	11	•	11	1			
14A. I don't have an opinion go ahead.1415Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	12	Q. But after the point that you last saw	12	2			
15Q. After the point that you last saw him,1516 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	13	him	13	3			
16 you do not have an opinion as to when he actually1617 developed the prosthetic valve endocarditis;1718 correct?18	14	A. I don't have an opinion go ahead.	14	1			
17 developed the prosthetic valve endocarditis;1718 correct?18	15	Q. After the point that you last saw him,	15	5			
18 correct?			16	5			
		· ·					
19 A. That's true.							
20 Q. Doctor, do you have any opinion as to		• • •					
21 whether there was any avoidable delay in taking 21		· · ·					
22 him to surgery? 22			1				
23     A. I don't have an opinion.     23       24     O. Do you have any opinion as to whether     24		*	1				
24Q. Do you have any opinion as to whether2425 his death was preventable?25	1		1				
PATTERSON-GORDONREPORTING, INC. Page 57 - Page 6			23	,		D 77 D	

JULY 6,2000	Conde	nseIt! <sup>™</sup>	DAVID LONGWORTH, M.D.
	Page 61		
1 AFFIDAVIT	-		
2 I have read the foregoing transcript from			
3 page 1 through 60 and note the following			
4 corrections:			
5 PAGE LINE REQUESTEDCHANGE			
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
18 DAVID LONGWORTH, M.D.			
20 Subscribed and sworn to before me this			
21 day of,2000.			
22			
			Ì
24 Notary Public			
25 My commission expires			
1 CERTIFICATE	Page 62		
2 State of Ohio, )			
SS: 3 County of Cuyahoga.)			
4			
5 I, Karen M. Patterson, a Notary Public			
6 commissioned and qualified, do hereby certify			
that the within named DAVID LONGWORTH, M.D. was 7 by me first duly sworn to testify to the truth,			
the whole truth and nothing but the truth in the 8 cause aforesaid; that the testimony as above set			
<ul> <li>forth was by me reduced to stenotypy, afterwards</li> <li>9 transcribed, and that the foregoing is a true and correct transcription of the testimony.</li> </ul>			
10 I do further certify that this deposition			
11 was taken at the time and place specified and was completed without adjournment; that I am not a			
12 relative or attorney for either party or otherwise interested in the event of this action.			
L3 IN WITNESS WHEREOF, I have hereunto set my			
14 hand and affixed my seal of office at Cleveland, Ohio, on this 17th day of July 2000.			
15			
16 Men M. Patterson			
17 /Karen M. Patterson, Notary Public Within and for the State of Ohio			
<sup>18</sup> My commission expires October <b>7</b> , <b>2004</b> .			
19			
20			
22			
23			
24			
25			

# **CondenseIt!**<sup>TM</sup>

#### 1 - capacity JULY 6,2000

							Materia and Anna and		6,2000
		44114 [I]	2:19	additional [1]	9:6		47:17		20:13
-1-		44114-1491[1]	]	additionally [1	]		53:15	21:17 21:21	22:24
	9:20	2:15	-	16:2		anticipate [1]	53:24	25:1 28:6	28:13
<b>1</b> [3] 3:2	9.2U	44124[1]	3:18	additions[1]	9:22	antimicrobial			32:18
		44195 [1]	3:25	address[3]	3:14	26:25	~	33:8 46:1	54:12
110th[1] 57:9		<b>45</b> [1] 11:17		3:22 4:3	- e # 1	antimicrobials	S[1]	54:18	04.7
112th[1] 56:21		·····		adequate[1]	39:19	23:16	-	bacteriums [1]	
1375 [1] 2:19		-5-		adequately[1]		appear [1]	12:23	balanced [1]	30:18
11400[1] 2:14				adjournment		APPEARANC		base[1] 36:18	_
11660[1] 2:5		51 [1] 55:3			*J	2:1		Ibased [3]	21:4
17th [1] 62:14		:526[1] 2:14		administered	11	Applying [1]	38:11	33:4 44:1	
11998[5] 12:15	12:18			23:18	L*J	appropriate [5]		basis [1] 32:8	
31:23 32:17	35:20	-6-		ADMINISTR	ATOR	31:1 31:2	55:1	became [2]	43:9
1:35 [1] 1:24		<b>6</b> [1] 1:14		[1] 1:4		57:7		45:22	_ ·
	·····	<b>60</b> [1] 61:3			12:24	approximates	[1]	Becker[1]	2:3
-2-			2.20	13:23 31:16	37:14	17:15	~ .	[bedside [1]	57:18
2000 [3] 1:14	61:21	623-0150[1]	2:20	56:24 57:3		aside [3] 12:22	12:23	[beeper[1]	32:16
62:14	12.10	660[1] 2:4	0.10	admitted [2]	37:9	13:21		beginning [1]	9:15
<b>2004</b> [1] 62:18		666-3417 [1]	2:10	44:21		aspects[2]	15:7	behalf [4]	2:2
<b>2004</b> [1] 62:18 <b>216</b> [3] 2:6	2.15			AFFIDAVIT	[1]	29:12		2:7 2:11	2:16
<b>216 [3]</b> 2:6 2:20	2:15	7		61:1		assess[1]	43:16	[] behaving	43:10
2:20 23rd [6] 12:15	17:21	7 [1] 62:18		affixed[1]	62:14	assessment [6]		below[1]	50:7
<b>23rd [6]</b> 12:15 37:10 44:15	17:21 44:22	72-hour[1]	42:20	aforesaid[1]	62:8	34:10 34:15	40:7	best [3] 14:7	16:16
55:17			∪ ست, ست.	afterwards[1]		46:23 56:13	•	31:8	
<b>24</b> [1] 7:4		-8-		again [6]	5:12		20:12	better [6]	28:12
241-2600 [1]	2:6			18:14 21:25	35:12	21:7 47:6	· · ·	44:2 44:6	47:13
241-2600[1] 24th [3] 42:8	2:6 42:17	875-2767[1]	2:15	48:12 50:19	🐨	assume[2]	5:12	47:22 52:25	
24th [3] 42:8	42:17			against [2]	40:4	33:10	AZ	between [3]	17:3
<b>44</b> :24 <b>25th</b> [1] 44:16		-9-		41:24	r.	atrial [1]	36:20	22:3 55:13	
25 m [1] 44:10		<b>95</b> [1] 30:24		age[1] 3:4		attempt [1]	10:20	bias [1] 27:19	
<b>26</b> [1] 11:16	21.00	<b>9500</b> [2] 1:23	3:24	aggressive[1]	27:22	attendance [1]	33:20	bioprosthetic	[1]
<b>26th</b> [18] 12:17 32:17 35:19	31:22 36:5	<b>98</b> [2] 15:21	3:24 42:8	ago [5] 6:8	7:21	attending [1]	56:5	18:23	
32:17 35:19 37:11 37:24	36:5 39:23	<b>98</b> [2] 15:21 <b>9:50</b> [1] 51:9	74:0	8:3 12:7	53:18	attention [1]	53:21	bit [2] 15:17	22:6
43:17 44:10	45:25			agree [4] 19:6	28:4	attorney [6]	6:20	blame [1]	59:18
46:24 47:10	48:17	<b>9th</b> [1] 2:19		28:18 44:25		6:21 6:22	8:7	blood [35]	22:23
50:4 53:14	55:10			ahead [2]	29:9	8:14 62:12		23:10 23:10	23:13
56:1		A-		58:14		attorneys[1]	60:2	23:20 23:21	23:25
227484[1]	32:16	abdominal[1]	36:22	AL [1] 1:10		audio[1]	12:1	24:6 24:13	25:4
227th[16]12:15	12:17	able [6] 5:14	38:4	alleged[1]	7:7	aureus [3]	20:11	25:6 26:9 39:4 44:2	26:12 44:11
17:21 31:23	42:18	45:25 54:12		alluded[1]	40:13	26:22 27:21		39:4 44:2 44:14 44:19	44:11 45:2
43:3 48:13	48:17 50:21	55:8		alone[1]	30:25	authoritative	1]	45:2 45:3	45:2 47:17
49:25         50:17           51:3         51:11	50:21 53:7	above[2]	1:25	always [4]	28:8	14:12	-	48:3 48:7	48:10
55:11 56:2	2011	62:8		28:25 31:3	28:8 56:8	available[1]	32:9	50:12 51:20	52:4
<b>2nd</b> [1] 2:5		abscess[16]	26:2	Ampicillin [1]		Avenue [3]	1:23	52:23 53:4	53:9
2.J		26:5 31:4	36:12	analysis [1]	44.21 41:7	2:14 3:24		53:14 54:2	54:4
-3-		37:23 37:25 38:20 38:25	38:6 41:17	Andress <sup>[1]</sup>	41:7 2:18	average[1]	19:2	54:8	10.1 #
·		38:20 38:25 43:21 45:11	41:17 45:18			averages[1]	16:15	board [2]	10:15
<b>3</b> [1] 46:24		50:23 55:13	45:18 55:20	anemia $\begin{bmatrix} 1 \end{bmatrix}$	20:8	avoidable [1]	58:21	10:19 Bonezziru	0.10
:30025[1]	2:9	absence	22:16	ANNA [1]	2:18	away[2] 53:1	57:25	Bonezzi[1]	2:12
31[1] 11:16		22:20 35:16		annual [1]	12:6	axillary [1]	36:18	bottom[2]	49:16
31010[1]	3:15	absolute[1]	21:24	anorexia[2]	20:12		÷0,10	50:6	00-00
<b>32</b> [1] 11:16		absolutely [2]	36:3	20:15	10.11	-B-		bovis [1]	20:23
<b>34</b> [1] 11:16		40:5		anotated[1]	48:20		. 10	breakdown [1]	
<b>38</b> [1] 36:11		accept[1]	26:22	answer[7]	5:14	bacteremia [14]		Building [1]	2:14
388614[1]	1:10	acted[1]	6:2	5:17 18:6	19:18 59:23	22:18 22:20	23:1 23:9	business [2]	3:21
<b>3:02</b> [1] 60:8	V	action[2]	0:2 29:18	54:22 57:5	59:23 5:19	23:3 23:6 23:12 25:2	23:9 25:13	4:2	
.v∠[1] 00:8		62:12	<i>-10</i> ,10	answers[1]	5:19	23:12 25:2 25:17 25:23	25:13 26:7		
-4-		actively[1]	10:10	anterior[1]	36:18	31:2	- * *	<u>-C-</u>	
		actuality [1]	25:12	antibiotic[1]	31:2	bacteremic [1]	45:22	cancer[2]	20:25
419 [1] 2:10		acute[1]	20:12	antibiotics [16]		bacteria [2]	25:7	21:3	-
43551[1]	2:9	$ad_{1}$ $32:8$	20.11	23:18 24:25	25:3 27:13	26:16		cannot[1]	32:17
44113[1]	2:5	ad[1] 32:8 addition[1]	11.10	27:6 27:6 28:6 44:18	27:13 45:1	bacterial [17]	9:11	capacity [1]	4:20
l		auuiti0[1]	41:18	1-010		1		1	
		D 017 D							

PATTERSON-GORDON REPORTING, INC.

Index Page 1

### cardiac - density JULY 6,2000

CondenseIt!<sup>TM</sup>

### DAVID LONGWORTH, M.D.

JULY 6,200					
cardiac <sup>[2]</sup>	16:21	<b>certify</b> [2] 62:6	26:22	31:4	47:14 54:2
36:22	<b>.</b>	62:10	(colonic [1] 20:23	conjunctiva [1] 36:17	cultures[32] 22:23
cardiologist [1		<b>chance</b> [1] 5:6	Colvin[38] 1:4	conjunctival	23:13 23:20 23:21
cardiologists	[1]	<b>change</b> [2] 43:5	1:6 4:2 4:6	40:12	24:13 25:4 25:7
41:2		61:5	10:5 12:16 13:3	<b>consider</b> [1] 14:6	25:14 26:10 26:12
c:are[15] 4:1	4:5	<b>changes</b> [1] 42:9	17:17 18:8 31:14	consistent <sup>[1]</sup> 43:6	39:4         44:3         44:11           44:14         44:15         44:19
5:3 10:5	12:17	characteristic[1]	31:15 31:18 31:22 33:7 33:20 35:10	<b>consult</b> [4] 32:13	45:2 45:3 45:3
21:5 35:3 47:9 51:12	39:5 51:14	23:3	35.23 37.7 37.23	32:18 32:22 33:25	47:17 48:3 48:8
51:21 51:23	56:19	chart[2] 37:20 40:24	39:25 41:16 44:17	consultants [1] 32:7	48:11 50:13 51:20
59:16	50.15	checkmark[3] 48:2:	45:1 45:10 45:19	consultation	52:4 52:23 53:4
carries [1]	32:16	49:3 49:5	45:24 48:12 50:20	35:20	53:10 53:15 54:5
CARULAS [3]		chemotherapy[1]	51:12 52:19 54:12	consulted [3] 56:6	54:8
28:15 60:4	<i>**</i> .10	27:1	56:1 56:20 57:21 58:8 59:16 59:18	57:1 57:2	<b>culturing</b> [1] 24:5
case [40] 1:8	6:9	<b>chest</b> [3] 8:20 46:18	58:8 59:16 59:18 59:24	consulting[1] 30:2	cured [1] 59:11
6:11 6:23	7:1	49:13	Colvin's [5] 21:1	contact[3] 55:25	current[3] 3:21
7:11 7:15	7:25	<b>choose</b> [1] 5:16	54:18 57:16 59:5	56:5 56:16	12:10 15:18
8:2 8:5	8:8	<b>chronic</b> [2] 20:10	59:9	contacted [1] 56:11	curriculum [6] 9:16
8:14 8:17	9:1	20:21	combined [1] 22:20	contained [4] 14:11	9:18 9:23 11:6 11:11 15:6
9:6 9:7 13:5 13:6	9:8 13:9	<b>circle</b> [2] 11:12	comment[3] 19:18	15:11 23:4 33:5	
13:12 13:16	13:9	11:13	47:14 50:25	containing[1] 38:25	custom[1] 35:13
14:2 14:2	14:3	circumstance[1]	commented <sup>[2]</sup> 38:3	contaminant[1]	<b>cutaneous</b> [2] 7:6 19:23
14:16 17:22	20:24	30:19	38:18	26:21	
21:1 27:20	34:1	circumstances[4]	<b>commission</b> [2] 61:25	<b>continued</b> [2] 47:22	Cuyahoga[2] 1:2 62:3
38:18 39:7	39:14	27:2 31:6 32:6 56:7	62:18	53:3	02.3
46:2         46:12           52:18         54:18	50:14	<b>cited</b> [1] 13:23	commissioned <sup>[1]</sup>	conversation <sup>[1]</sup>	-D-
	<b>7</b> 1		62:6	13:8	
<b>cases</b> [11] 6:3 7:18	5:1 7:23	<b>Civil</b> [1] 3:6	common [5] 1:1	<b>cooking</b> [1] 44:16	<b>damage</b> [1] 28:10
9:4 9:10	16:2	<b>classic</b> [1] 30:20	17:12 20:3 20:4	<b>copy</b> [2] 9:15 41:3	<b>data</b> [1] 23:17
16:6 24:16	25:23	classically[1] 46:6	26:20	<b>correct</b> [35] 4:10	date [2] 1:24 42:10
27:16		clear [2] 27:13 49:13	communicate [1]	9:20 10:2 10:6	<b>dated</b> [2] 32:17
casts [1] 20:9		<b>clear-cut</b> [1] 25:24	52:3	10:7 10:17 10:18	48:16
catheter [1]	45:19	<b>Cleveland</b> [18] 1:22	communicated <sup>[1]</sup>	10:24 15:13 17:25	<b>David</b> [7] 1:13
causative [3]	17:7	1:23 2:5 2:7	52:8	18:9 18:10 25:10	1:16 3:4 3:9
19:20 24:8	****	2:15 2:19 3:24	comorbidity [1]	25:14 31:23 31:24 32:23 37:8 37:10	3:13 61:18 62:6
caused [1]	59:3	3:25 4:7 4:8 4:12 10:23 12:24	30:19	38:7 41:9 42:4	day's[1] 39:16
causes [5]	15:9	31:16 56:21 57:22	compared [1] 17:13	47:1 47:2 48:20	days [9] 31:22 37:8
16:24 17:13	23:23	59:7 62:14	compelling [1] 44:4	49:11 50:4 50:5	37:14 42:15 46:6
46:10		<b>Clinic</b> [12] 1:22	completed [1] 62:11	50:17 54:10 56:14	47:17 53:16 54:1
causing [1]	55:9	2:7 3:24 4:7	<b>complex</b> [1] 20:5	56:18 58:9 58:18	54:7
caveat [1]	26:2	4:8 4:12 10:23	complicated [1]	62:9	deal [2] 11:8 11:12
cc's [3] 36:13	38:5	12:24 31:16 56:21	8:19	<b>correction</b> [1] 48:19	<b>dealing</b> [2] 9:11
38:25	• -	57:22 59:7	complication [2]	corrections [2] 9:22	14:25
<b>c:ell</b> [1] 20:9		<b>clinical</b> [8] 7:6	15:25 19:13	61:4	<b>death</b> [3] 58:25 59:3 59:6
c:enter <sub>[2]</sub>	16:13	29:15 42:2 43:18 46:9 52:2 52:25	complications [3]	correctly[1] 25:5	
27:18		53:25	59:12 59:19 59:20	<b>costovertebrosacral</b> [1] 36:24	decision[1] 30:15 Defendant[7] 2:7
c:enters[4]	16:1	clinically [4] 43:10	<b>conceivable</b> [2] 45:6		2:11 2:16 5:25
16:1 16:16	16:20	44:1 44:6 53:10	57:17	<b>counsel</b> [6] 1:22 5:5 5:16 5:18	6:13 6:16 7:25
central [2]	20:6	<b>clinician's</b> [1] 29:6	Eonceivably[1] 39:3	13:15 58:4	<b>Defendants</b> [2] 1:11
45:19		closed-space[1]		County [2] 1:2	7:16
certain [2]	30:16	23:4	<b>concern [5]</b> 26:20 29:16 43:25 48:5	62:3	<b>defense</b> [4] 6:20
43:8		<b>closely</b> [1] 17:15	48:11	couple [3] 15:8	6:21 6:22 8:7
certainly [5]	20:15	clues[1]7:6	<b>concerned</b> [1] 54:25	48:6 54:7	<b>define</b> [2] 26:10
23:13 24:22	28:17	Co [2] 2:3 2:12	<b>concerns</b> [1] 43:20	<b>course</b> [4] 12:6	33:9
33:18	<i></i>	coagulase-negative		42:2 52:25 58:4	<b>defined</b> [1] 31:4
certainty [3]	54:14	<b>Loagulase-negative</b>	concluded [1] 60:8	courses [1] 45:8	<b>definition</b> [2] 49:15
54:24 55:3	סוי	27:24 57:24	<sup>18</sup> condition <sup>[1]</sup> 53:25	court[2] 1:1 5:20	55:2
CERTIFICAT 62:I	<b>Ľ</b> [1]	code [1] 3:17	<b>conduct</b> [1] 50:19	criteria[1] 25:18	degree [3] 19:7
certifications		cognizant[1] 19:11	<b>confidence</b> [1] 22:16	criticism[1] 59:15	19:10 41:20
10:20	[1]	<b>colleague</b> [1] 13:10	<b>confine</b> [1] 37:4		dehiscence <sup>[2]</sup> 28:1
certified [2]	3:7		<b>confirm</b> [2] 49:7	<b>culture</b> [12] 23:5 23:10 23:11 23:14	43:7
10:15	5.7		20.0	23:25 24:20 38:21	<b>delay</b> [1] 58:21
10,10		<b>collected</b> [2] 26:10	congestive[2] 20:2	39:7 39:15 39:20	density[5] 41:8
L		1			

Index Page 2

# **CondenseIt!**<sup>TM</sup>

#### Department - five JULY 6,2000

							_		JULY	6,2000
	42:9	51:18 53:8		41:8	41:12	41:18	enterococcal [	1]	extent [2]	28:9
43:5		discharged [8]	42:18	41:19	42:7	42:9	20:24		28:9	
Department [1] 3	3:23	51:14 51:17	51:21	42:14 44:3	43:1	43:5	entity [2]	4:12		
	26:15	53:25 54:6	54:19		diam		15:8		-F-	
	19:2	57:8	51.00	choca1	26:4	1115[2]	epidemiologi	C[1]	fact[11] 19:12	20:15
19:20 29:12		discretion[1]	51:22			pher [1]	15:6		29:20 32:4	36:14
1 L	3:7	discuss[1]	59:5	42:3	ulogic	phot [1]	epididymides	[1]	38:2 40:1	41:23
deposition [12]		discussed [3] 13:13 13:16	13:6		diogra	phic [2]	episodes[1]	20:5	44:1 44:13	48:10
	4:14 7:10	discussion <sup>[2]</sup>	21(2	25:25	41:22	. F 5 1	episodic[1]	20:5	factors[3] 18:16 18:19	18:12
	12:13	31:7	51.5	chocar	diogra	phy [2]	erythrocyte[4]		18:16 18:19 failure [2]	20.2
60:8 62:10		discussions [2]	31:12	42:1	52:24		46:22 55:16	55:18	31:5	20:3
depositions [1] 5	5:5	58:4		chos [1		41:1	especially [3]	16:20	fairly [1]	34:23
describing [1] 1	15:9	disease <sup>[12]</sup>	3:23	diting		57:12	19:22 20:7	10.20	falsely <sup>[2]</sup>	26:3
Desk[1] 3:23		14:6 14:9	15:16	ffect [2	1	25:6	ESQ [4] 2:4	2:8	45:4	20.0
despite [2]	31:2	15:21 17:16 30:8 32:7	30:4 34:5	41:11			2:13 2:18		family [2]	35:17
31:5		37:17 52:20	34:5	sight [2]		44:14	ESTATE [1]	1:5	57:16	
	35:21	diseases [1]	10:16	ither [4		38:22	ET[1] 1:10		far [1] 30:12	
	7:20	disproportion		56:1	56:17	62:12	Euclid [2]	1:23	fastidious[4]	23:24
17:22 57:10		17:12		<b>:levate</b> 46:21	<b>a [5]</b> 55:9	46:15 55:15	3:24		24:9 24:17	24:22
	29:19	doctor[16]	3:11	55:19	0.00	00.10	evaluation [2]	29:21	fatigue [2]	28:22
55:8	10.2	9:14 10:1	10:15	licited	[3]	34:25	57:11	EC 1	29:5	<b>-</b>
determining [1]4		11:6 27:11	29:9		35:16		evaluations [1]		favor[2] 9:2	7:22
develop [2] 7	7:4	30:2 33:10 43:13 53:12	37:3 56:19	mboli		27:3	event[1]	62:12	features[1]	35:13
i	3:21	58:5 58:20	60:1		30:23	40:12	eventually [2] 59:22	23:11	febrile <sup>[2]</sup>	7:3
58:8 58:17 5	59:21	doesn't <sub>[2]</sub>	38:1	mbolic		20:5	evidence[3]	25:25	54:4	1.2
developing[1] 4		45:7		merger	ncy [3]	7:3	26:1 31:1	La U , La U	February [17]	12:15
	22:10	done [9] 13:3	22:23	7:9	57:8	1.0	evident[2]	22:19	12:17 17:21	17:21
	36:8	23:10 35:15	42:17	mploy 4:9	er[2]	4:6	25:24		31:22 31:23	32:17
37:3		43:2 45:2 51:7	45:3	nd [1]	53.16		exactly [2]	29:14	35:19         37:9           43:3         44:15	42:7 44:22
	7:8	<b>dose</b> [1] 45:6		indoca		821	34:24		44:24 45:25	50:16
24:5		doses [3]	25:3	9:12	11:9	11:13	exam[7] 29:23 36:2	29:13 36:6	59:24	
	19:11 22:18	44:20 48:6	2010	11:20	12:5	15:1	36:9 36:22	50:20	felt [3] 41:24	52:14
	22:18	doubt [2]	54:20	15:10 16:23	15:23	16:11	examination [4		53:1	
	25:20	55:6		16:23	17:1 17:13	17:8 17:15	1:18 3:5	3:9	fever[16]	19:16
	29:1	down[4]	5:20	17:19	18:2	18:3	36:22		19:16 19:21 28:21 29:4	24:24 32:3
	41:24	35:2 35:24	44:7	18:9	18:13	18:17	examined [3]	36:25	33:18 41:16	46:6
44:4 46:9 4 55:12	46:20	Dr[16] 13:10	33:24	18:24	19:8	19:15	37:2 39:22		46:10 46:15	46:19
diagnostic <sub>[2]</sub> 2	9.21	34:3 34:10 37:18 48:15	35:6 48:23	19:23 20:18	20:2 20:19	20:13 20:24	example[1]	24:3	47:22 53:3	54:7
43:20		49:17 50:6	51:7		21:16	21:17	excellent[1]	51:1	fevers[2]	43:20
DIANE <sup>[1]</sup> 1	[:4	52:3 53:13	55:25	21:22	22:4	22:8	exclude [2]	40:5	52:25	477 177
	47:19	56:11 56:17			22:14	22:24	43:23	46.10	few [4] 36:17 53:15 54:1	47:17
dictated [2] 4	42:1	drainage[1]	38:13		24:3 25:21	24:12 26:14	excluding[1] Exhibit[2]	46:10 3:2	fibrillation [1]	36:20
52:24		drained [2] 45:12	39:11	26:23	27:8	27:12	9:20	3.2	field [3] 14:5	14:10
died[1] 59:22		drawn[1]	44:19	27:21	28:5	28:13	exists [1]	12:8	15:15	
	21:16	$due_{15}$ 20:11	20:25		28:25 30:12	29:20 30:22	expectancy[1]		fifth[1] 48:23	
22:2		24:16 24:19	43:21		32:19	33:8	expectation [2]		file[1] 13:21	
difference <sup>[2]</sup> 4	45:7	duly [3] 3:6	62:5	33:15	36:16	39:11	54:8	/ •	filed [4] 6:4	13:5
	21.22	62:7	-		40:9	41:21	experience[1]	20:16	13:10 13:18	
22:2 42:21		during [3]	5:15	43:6 46:2	45:21 47:7	46:1 48:1	expert [6]	4:25	finding[2]	41:22
differential [6] 2		56:23 57:3			54:13	54:18	6:3 6:7 6:21 7:18	6:20	42:21 findings tu	20.2
29:1 29:11 4	43:19			54:21	55:5	57:25		61:25	findings [4] 35:1 36:6	20:3 50:24
46:20 55:12	-	-E-			58:17	59:10	expires [2] 62:18	01:23	fine[2] 51:10	53:1
differentiating		E[1] 2:9		59:22 :ndova:		r • 1	explicitly[1]	48:9	finish	12:22
17:3 directing[1] 5	53:21	early [5] 17:3	17:7		23:16	[4]	express[1]	38:5	18:5 25:11	a dad i dad dad
		17:19 18:1	18:8	nergy		44:8	expressed [5]	36:13	first [8] 3:6	10:20
		East [1] 2:19	10.15	ntails		54:17	38:14 38:19	50:3	29:24 31:25	35:19
	45:15	echo[14] 40:23 41:3	40:16 41:7	nter[1]		,	51:2		44:8 53:13	62:7
		10, <i>20</i> T1,0	·**./				extensive [1]	34:23	five[3] 4:18	49:16
							-			

PATTERSON-GORDON REPORTING, INC.

Index Page 3

### flag - likely JULY 6,2000

**CondenseIt!**<sup>TM</sup>

### DAVID LONGWORTH, M.D.

	<b>JULY</b> 6,2000				
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $	53:18				July [2] 1:14 62:14
$ \begin{array}{c} \mbox{track} [1] & 317 & 39.25 & 41.15 & 44.27 & 51.25 & 11.1 & 11.3 & 20.21 & 21.10 & 21.12 & 21.27 & 51.15 & 51.15 & 51.15 & 54.19 & 54.19 & 54.19 & 54.29 & 37.4 & 43.3 & 36.25 & 67.4 & 53.17 & 50.15 & 51.15 & 54.19 & 54.29 & 37.4 & 53.21 & 53.25 & 51.15 & 54.19 & 54.29 & 37.4 & 53.21 & 53.25 & 51.15 & 54.19 & 54.29 & 57.4 & 57.27 & 57.5 & 51.15 & 54.19 & 54.2 & 54.21 & 54.25 & 54.11 & 54.29 & 57.2 & 54.25 & 54.11 & 54.29 & 57.2 & 54.25 & 54.15 & 54.15 & 54.19 & 54.2 & 54.21 & 54.25 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.15 & 54.25 & 54.15 & 54.15 & 54.25 & 54$	<b>flag</b> [1] 29:5		1		jump [1] 14:17
	focuses [1] 31:7		hospital[11] 10:22		
	follow [1] 47:15				-K-
	followed 111 52:22	45:24 48:12 50:20			Kaisernu 12.19
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		56:1 56:20 57:15			
$ \begin{array}{cccccccccccccccccccccccccccccccccccc$	39:16 40:14 44:23			43:23 46:25 47:4	37:17 50:15 51:15
		1	8:22 12:15		52:5 53:8 56:16
			hours [2] 7:4	infections[1] 23:4	
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					Karen [3] 1:19
$\begin{array}{c c c c c c c c c c c c c c c c c c c $	followup [12] 41:25				
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		<b>grow</b> [4] 23:14 23:25	54:23		
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		1			
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		giows[i] 26:17	I-		1
$ \begin{array}{c} Foregoing ray 61:2 \\ for rankly ri 12:1 \\ for rankly ri 12:2 \\ found ray 5:2 \\ found ray 5:2 \\ result (r) 12:1 \\ found ray 5:2 \\ result (r) 12:2 \\ r$	1		<b>ID</b> [1] 32:17	1	
$\begin{array}{cccccccccccccccccccccccccccccccccccc$					
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $				46:8	
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $	1Form(1) 12:1	liallmark [1] 23:2	identification	information [6] 14:11	
		hand [3] 5:20 27:25		32:21 33:1 33:5	50.17
$\begin{array}{c c c c c c c c c c c c c c c c c c c $			identified [1] 15:12		
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $	19:12				
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		handwriting [1]			
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		1		<b>inoculum</b> [1] 23:14	
				inpatient [1] 50:9	
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $	four [2] 26:19 27:9				
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		healed[1] 36:19	1	instances <sup>[5]</sup> 14:13	
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		hear [1] 40:1	1 6 1	15:11 24:13 24:18	
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $		lieard [1] 46:12	<b>A</b>		27.3
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		hearing[1] 46:11			
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		-			
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		19:8 19:21 20:2			
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $			16:15 16:17 16:18		late 161 17:4 17:10
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $					17:14 17:19 18:1
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					
	1Front (2) 5:23 41:4		incidences[1] 22:1		
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $					
$\begin{array}{c c c c c c c c c c c c c c c c c c c $	1	1	19:21 20:3 30:22		
$\begin{array}{ c c c c c c c c c c c c c c c c c c c$					leak [2] 28:1 31:5
$ \begin{array}{ c c c c c c c c c c c c c c c c c c c$			included [1] 43:20	1	
$ \begin{array}{ c c c c c c c c c c c c c c c c c c c$	-G-		including[1] 30:16		
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					
$ \begin{array}{c c c c c c c c c c c c c c c c c c c $			1		
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					
$\begin{array}{c ccccccccccccccccccccccccccccccccccc$	[general[5] 15:1/ 27:5 28:12 34:7				
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					
	1				
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		1			
			1ndicate [2] 21:2		0
$\begin{array}{c c c c c c c c c c c c c c c c c c c $		1		1	
$\begin{array}{ c c c c c c c c c c c c c c c c c c c$				44.18 45:1	
$ \begin{array}{c ccccccccccccccccccccccccccccccccccc$	9:9 12:9				20:4 20:9 22:16
$\begin{array}{c c c c c c c c c c c c c c c c c c c $				- <b>J</b> -	
$ \begin{array}{ c c c c c c c c c c c c c c c c c c c$			46:24 53:10	Ianeway m 10.24	
					20:11
$ \begin{array}{c ccccccccccccccccccccccccccccccccccc$		29:12 29:23 32:2			
$ \begin{array}{c ccccccccccccccccccccccccccccccccccc$		34:25 35:11 35:14	30:17 30:21		
$\begin{array}{c c c c c c c c c c c c c c c c c c c $					
home[2] 3:14 indolentru 20:22 line likelihoodru 55:4					1
$\mathbf{H}_{\mathbf{T}}^{\mathbf{T}} = \mathbf{H}_{\mathbf{T}}^{\mathbf{T}} + \mathbf{H}_{\mathbf$	Gregory[31] 1:5		indolent[1] 20:22	jugular [2] 36:12	likelihood[1] 55:4
Gregory[31]       1:5 $3:19$ infected [s]       16:3       Jugular [2] $36:12$ inkelmood[1] $55:4$ 4:2       4:6       10:5       3:19       infected [s]       16:3 $49:22$ likely[1] $28:7$		3:19	infected [5] 16:3		

Index Page 4

# $\textbf{CondenseIt!}^{^{\mathrm{TM}}}$

# limbs - overall

	,			JULY 6, 2000
limbs [1] 20:6	43:9	MS [6] 3:10 28:15	notations [1] 13:22	occlusive[1] 27:4
limited [1] 15:15	may [13] 5:16 19:20	42:13 57:12 59:25	note [39] 32:4 32:10	occult[1] 20:22
line [8] 35:3 36:18	23:19 25:5 25:12	60:4	33:25 34:16 34:20	occurs [2] 17:2
40:8 40:14 45:19	41:12         41:20         45:4           46:21         48:6         56:21	multiple [3] 26:9 30:22 44:2	35:6 35:7 35:9 35:17 35:20 36:4	46:6
45:23 48:23 61:5	57:9 60:2	murder [2] 4:22	36:12 36:13 37:20	October [1] 62:18
lines [1] 49:16	mean [7] 4:20	9:8	37:24 38:1 39:16	odd [1] 37:13
list <sub>[3]</sub> 8:19 20:15 29:24	14:2 25:9 26:1	murmur [4] 19:22	40:6 40:15 43:24	off [5] 47:12 47:17
literature <sub>[3]</sub> 16:19	26:8 42:15 59:11	19:22 36:21 49:13	44:8 44:13 48:15 48:19 48:20 48:21	50:8 53:3 53:15
22:3 30:17	means [4] 26:9	murmurs [3] 39:23	48:24 49:17 50:7	office [2] 2:4 62:14
liver [2] 8:19 8:24	29:13 43:9 55:6	39:23 40:1	50:16 51:5 51:18	offices [1] 1:22
localized [1] 27:25	mechanical [1] 18:21	Murphy[1] 2:12	53:12 53:13 53:14	often [6] 15:22 20:9
long-standing [1]	media [2] 24:1 24:3	must [3] 5:18 28:25	53:17 54:20 55:5 61:3	27:23 28:2 35:2
20:21	medical [7] 2:17	30:18	noted [4] 15:5	46:18
longer[1] 27:10	5:22 5:25 13:22	-N-	35:1 49:7 51:18	Ohio [17] 1:2
Longworth [7] 1:13	30:25 31:5 55:3		notes [12] 12:14	1:21 1:23 2:5
1:16 3:4 3:9	medically [2] 27:17	name [4] 3:12	12:16 13:20 14:1	2:9 2:15 2:16 2:19 3:5 3:16
3:13 61:18 62:6	28:2	6:9 6:18 8:15	34:23 35:12 38:16	3:25 6:4 10:2
<b>look</b> [3] 16:15 40:25	medications [1]	named [5] 5:2 5:24 7:25 8:6	38:23 44:22 52:2 52:2 55:11	62:2 62:5 62:14
41:0 looked[3] 36:14	44:23	62:6	nothing [1] 62:7	62:17
39:8 39:17	medicine [5] 10:11 10:16 12:6 15:18	national [1] 16:15	notice [2] 1:21	Once [1]4:22
looking [2] 15:7	34:7	native [5] 17:13	13:11	one [16] 4:25 13:17
51:8	medium[1] 23:14	17:16 20:18 22:3	notification [1] 13:9	14:20 16:17 16:24 18:14 22:15 22:17
looks [1] 50:25	member[1] 35:17	24:4	now [30] 6:2 7:17	26:2 27:20 28:25
loss [3] 20:12 20:18	meningococcemia [1]	necessarily [3] 39:12	9:14 10:15 12:9	29:10 42:8 53:16
20:25	7:5	52:21 54:9	14:20 27:11 31:11	54:23 60:1
low [1] 36:10	mention [1] 11:14	neck [2] 41:17 51:6	31:17 33:4 36:4 37:22 39:22 40:6	ones[2] 9:6 17:12
lower [3] 16:21	mentioned [4] 24:8	need [4] 19:11 41:25 52:19 52:23	40:15 41:6 44:10	onset [1] 17:14
23:19 25:8	37:6 46:16 58:5	needed [2] 43:22	45:24 46:23 48:12	open [1] 46:7
	— Merit [1] 1:19	54·9	48:23 49:16 50:6	operate [1] 30:15
-M-	Michael's [1] 6:12	54:9	48:23 49:16 50:6 50:16 50:25 51:11	opinion [22] 17:18
M [3] 1:19 62:5	Michael's [1] 6:12 microbiology [1]	1         1         43.22           54:9         negative[10]         22:21           23:5         23:11         24:20	48:23         49:16         50:6           50:16         50:25         51:11           53:12         53:22         55:15	opinion [22] 17:18 17:24 18:7 25:19
<b>M</b> [3] 1:19 62:5 62:17	Michael's [1] 6:12 microbiology [1] 17:14	54:9 negative[10] 22:21 23:5 23:11 24:20 25:12 25:15 39:3	48:23         49:16         50:6           50:16         50:25         51:11           53:12         53:22         55:15           56:19         56:15         56:15	opinion [22]17:1817:2418:725:1926:1333:742:6
<b>M</b> [3] 1:19 62:5 62:17 <b>M.D</b> [8] 1:9 1:13	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1]	54:9           negative[10]         22:21           23:5         23:11         24:20           25:12         25:15         39:3           44:2         44:14         45:5	48:23         49:16         50:6           50:16         50:25         51:11           53:12         53:22         55:15	opinion [22]         17:18           17:24         18:7         25:19           26:13         33:7         42:6           42:23         42:24         43:4           43:13         52:19         57:11
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9	54:9 negative[10] 22:21 23:5 23:11 24:20 25:12 25:15 39:3 44:2 44:14 45:5 negligence[3] 5:25	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7	opinion [22]         17:18           17:24         18:7         25:19           26:13         33:7         42:6           42:23         42:24         43:4           43:13         52:19         57:11           58:7         58:10         58:14
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4         3:9         61:18         62:6	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25       8:16	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1]	opinion [22]         17:18           17:24         18:7         25:19           26:13         33:7         42:6           42:23         42:24         43:4           43:13         52:19         57:11           58:7         58:10         58:14           58:16         58:20         58:23
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6	48:23       49:16       50:6         50:16       50:25       51:11         53:12       53:22       55:15         56:19       11:7         11:14       12:7       46:24         numbers [1]       11:15	opinion         [22]         17:18           17:24         18:7         25:19           26:13         33:7         42:6           42:23         42:24         43:4           43:13         52:19         57:11           58:7         58:10         58:14           58:16         58:20         58:23           58:24         59:2         59:12
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4         3:9         61:18         62:6           ma'am [5]         13:24	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6         never [4]       10:14	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23	opinion [22]       17:18         17:24       18:7       25:19         26:13       33:7       42:6         42:23       42:24       43:4         43:13       52:19       57:11         58:7       58:10       58:14         58:16       58:20       58:23         58:24       59:2       59:12         opinions [1]       57:6
$ \begin{array}{c ccccccccccccccccccccccccccccccccccc$	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22 32:14	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6         never [4]       10:14         11:5       13:13       24:14	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1]	opinion [22]       17:18         17:24       18:7       25:19         26:13       33:7       42:6         42:23       42:24       43:4         43:13       52:19       57:11         58:7       58:10       58:14         58:16       58:20       58:23         58:24       59:2       59:12         opinions [1]       57:6         opportunity [3]       31:14
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1] 20:23         324	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6         never[4]       10:14         11:5       13:13       24:14         new [1]       19:21	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [s] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6         never [4]       10:14         11:5       13:13       24:14	48:23       49:16       50:6         50:16       50:25       51:11         53:12       53:22       55:15         56:19       11:7         11:14       12:7       46:24         numbers [1]       11:15         nutritionally [1]       24:23         -O-         0'clock [2]       1:24         60:8       1:24	opinion [22]       17:18         17:24       18:7       25:19         26:13       33:7       42:6         42:23       42:24       43:4         43:13       52:19       57:11         58:7       58:10       58:14         58:16       58:20       58:23         58:24       59:2       59:12         opinions [1]       57:6         opportunity [3]       31:14
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4         3:9         61:18         62:6           ma'am [5]         13:24         15:14         33:3         53:5         53:11           makes [1]         49:17         malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         8:18         8:18         8:18         1:10         1:10	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [s] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18 mine [1] 34:17	54:9 negative[10] 22:21 23:5 23:11 24:20 25:12 25:15 39:3 44:2 44:14 45:5 negligence[3] 5:25 6:25 8:16 nervous[1] 20:6 never[4] 10:14 11:5 13:13 24:14 new[1] 19:21 next[3] 43:14 48:25	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23 -O- o'clock [2] 1:24 60:8 oath [1] 5:7	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23           Malone [1]         8:9           man [1]         8:18           managed [1]         28:2	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [s] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18 mine [1] 34:17 minute [1] 57:13	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous[1]       20:6         never[4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       night [4] 28:21       29:4         36:11       41:16       29:4	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23 -O- o' clock [2] 1:24 60:8 oath [1] 5:7 objection [5] 5:16	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20 23:2
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23           Malone [1]         8:9           man [1]         8:18           managed [1]         28:2           mandated [1]         47:23	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18 mine [1] 34:17 minute [1] 57:13 minutes [1] 53:18	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous [1]       20:6         never [4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       night [4] 28:21       29:4         36:11       41:16       11:16         nine [1]       39:3       39:3	48:23       49:16       50:6         50:16       50:25       51:11         53:12       53:22       55:15         56:19       number [4]       11:7         11:14       12:7       46:24         numbers [1]       11:15       nutritionally [1]         24:23       -O-         0' clock [2]       1:24         60:8       0ath [1]       5:7         objection [5]       5:16         28:15       28:16       29:8	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20 23:2 order [1] 29:18 ordered [1] 32:12 organism [9] 16:23
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18 mine [1] 34:17 minute [1] 57:13 minutes [1] 53:18 misdated [1] 48:18	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16          nervous [1]       20:6          never [4]       10:14       11:5         11:5       13:13       24:14         new [1]       19:21          next [3]       43:14       48:25         54:7           night [4] 28:21       29:4         36:11       41:16         nine [1]       39:3         nodes [2]       19:24	48:23       49:16       50:6         50:16       50:25       51:11         53:12       53:22       55:15         56:19       number [4]       11:7         11:14       12:7       46:24         numbers [1]       11:15       nutritionally [1]         24:23       -O-         o' clock [2]       1:24         60:8       0ath [1]       5:7         objection [5]       5:16         28:15       28:16       29:8         42:10       -       -	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20 23:2 order [1] 29:18 ordered [1] 32:12 organism [9] 16:23 17:7 19:2 19:21
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         14:8	Michael's [1] 6:12 microbiology [1] 17:14 microhematuria [1] 20:9 might [5] 12:23 25:9 25:11 26:22 32:14 mind [1] 39:20 minds [1] 33:18 mine [1] 34:17 minute [1] 57:13 minutes [1] 53:18 misdated [1] 48:18 Mishkind [1] 2:3 mitral [3] 8:18 40:20 40:21	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous [1]       20:6         never [4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       night [4] 28:21       29:4         36:11       41:16       11:6         nine [1]       39:3       10des [2]         19:24       40:11       11	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23 -O- o'clock [2] 1:24 60:8 oath [1] 5:7 objection [5] 5:16 28:15 28:16 29:8 42:10 observation [1] 49:8	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20 23:2 order [1] 29:18 ordered [1] 32:12 organism [9] 16:23 17:7 19:2 19:21 21:25 24:5 24:10
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         27:14       26:22         32:14       33:18         mind [1]       39:20         minds [1]       33:18         mine [1]       57:13         minutes [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25       8:16         nervous [1]       20:6       never [4]       10:14         11:5       13:13       24:14       new [1]       19:21         next [3]       43:14       48:25       54:7         night [4] 28:21       29:4       36:11       41:16         nine [1]       39:3       nodes [2]       19:24         40:11       nods [1]       5:20       19:24	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23 -O- o'clock [2] 1:24 60:8 oath [1] 5:7 objection [5] 5:16 28:15 28:16 29:8 42:10 observation [1] 49:8 observe [2] 47:12	$\begin{array}{c} \text{opinion} [22] & 17:18\\ 17:24 & 18:7 & 25:19\\ 26:13 & 33:7 & 42:6\\ 42:23 & 42:24 & 43:4\\ 43:13 & 52:19 & 57:11\\ 58:7 & 58:10 & 58:14\\ 58:16 & 58:20 & 58:23\\ 58:24 & 59:2 & 59:12\\ \text{opinions} [1] & 57:6\\ \text{opportunity} [3] & 31:14\\ 35:4 & 43:16\\ \text{opposed} [2] & 21:20\\ 23:2\\ \text{order} [1] & 29:18\\ \text{ordered} [1] & 32:12\\ \text{organism} [9] & 16:23\\ 17:7 & 19:2 & 19:21\\ 21:25 & 24:5 & 24:10\\ 26:15 & 27:19\\ \end{array}$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         27:14       26:22         32:14       33:18         mind [1]       39:20         minds [1]       33:18         mine [1]       57:13         minutes [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25         6:25       8:16         nervous [1]       20:6         never [4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:24       36:11       41:16         nine [1]       39:3       10:24       40:11         nodes [2]       19:24       40:11       10:14         nodes [1]       5:20       10:24       40:11	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \mbox{number [4]}  11:7 \\ 11:14  12:7  46:24 \\ \mbox{numbers [1]}  11:15 \\ \mbox{nutritionally [1]} \\ 24:23 \\ \hline \begin{tabular}{lllllllllllllllllllllllllllllllllll$	opinion [22] 17:18 17:24 18:7 25:19 26:13 33:7 42:6 42:23 42:24 43:4 43:13 52:19 57:11 58:7 58:10 58:14 58:16 58:20 58:23 58:24 59:2 59:12 opinions [1] 57:6 opportunity [3] 31:14 35:4 43:16 opposed [2] 21:20 23:2 order [1] 29:18 ordered [1] 32:12 organism [9] 16:23 17:7 19:2 19:21 21:25 24:5 24:10 26:15 27:19 organisms [6] 20:22
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         49:18         49:18	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:22       32:14         mind [1] 39:20       minds [1]         minds [1]       33:18         mine [1]       34:17         minutes [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       16:5	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16       9         nervous [1]       20:6       9         never [4]       10:14       11:5         11:5       13:13       24:14         new [1]       19:21       9:24         next [3]       43:14       48:25         54:7       19:24       29:4         36:11       41:16       19:33         nodes [2]       19:24       40:11         nods [1]       5:20       19:24         40:11       17:5       12:21	48:23 49:16 50:6 50:16 50:25 51:11 53:12 53:22 55:15 56:19 number [4] 11:7 11:14 12:7 46:24 numbers [1] 11:15 nutritionally [1] 24:23 -O- o'clock [2] 1:24 60:8 oath [1] 5:7 objection [5] 5:16 28:15 28:16 29:8 42:10 observation [1] 49:8 observe [2] 47:12	$\begin{array}{c} \text{opinion} [22] & 17:18\\ 17:24 & 18:7 & 25:19\\ 26:13 & 33:7 & 42:6\\ 42:23 & 42:24 & 43:4\\ 43:13 & 52:19 & 57:11\\ 58:7 & 58:10 & 58:14\\ 58:16 & 58:20 & 58:23\\ 58:24 & 59:2 & 59:12\\ \text{opinions} [1] & 57:6\\ \text{opportunity} [3] & 31:14\\ 35:4 & 43:16\\ \text{opposed} [2] & 21:20\\ 23:2\\ \text{order} [1] & 29:18\\ \text{ordered} [1] & 32:12\\ \text{organism} [9] & 16:23\\ 17:7 & 19:2 & 19:21\\ 21:25 & 24:5 & 24:10\\ 26:15 & 27:19\\ \end{array}$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:22       32:14         mind [1] 39:20       minds [1]         minds [1]       33:18         mine [1]       34:17         minutes [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       months [3]       6:8	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16          nevous [1]       20:6          never [4]       10:14       11:5         11:5       13:13       24:14         new [1]       19:21          next [3]       43:14       48:25         54:7        19:21         next [3]       43:14       48:25         54:7        19:21         next [3]       43:14       48:25         54:7        19:24         36:11       41:16          nime [1]       39:3          nodes [2]       19:24          40:11            nondes [1]       5:20           nondescript [1]       7:5       12:21          14:17       37:6	$\begin{array}{c} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \\ number [4]  11:7 \\ 11:14  12:7  46:24 \\ numbers [1]  11:15 \\ nutritionally [1] \\ 24:23 \\ \hline \begin{array}{c} -\mathbf{O}- \\ \hline \\ 0' clock [2]  1:24 \\ 60:8 \\ oath [1]  5:7 \\ objection [5]  5:16 \\ 28:15  28:16  29:8 \\ 42:10 \\ observation [1]  49:8 \\ observe [2]  47:12 \\ 50:8 \\ observed [3]  37:22 \\ 41:17  46:17 \\ \end{array}$	$\begin{array}{c} \text{opinion} [22] & 17:18\\ 17:24 & 18:7 & 25:19\\ 26:13 & 33:7 & 42:6\\ 42:23 & 42:24 & 43:4\\ 43:13 & 52:19 & 57:11\\ 58:7 & 58:10 & 58:14\\ 58:16 & 58:20 & 58:23\\ 58:24 & 59:2 & 59:12\\ \text{opinions} [1] & 57:6\\ \text{opportunity} [3] & 31:14\\ 35:4 & 43:16\\ \text{opposed} [2] & 21:20\\ 23:2\\ \text{order} [1] & 29:18\\ \text{ordered} [1] & 32:12\\ \text{organism} [9] & 16:23\\ 17:7 & 19:2 & 19:21\\ 21:25 & 24:5 & 24:10\\ 26:15 & 27:19\\ \text{organisms} [6] & 20:22\\ 23:24 & 24:8 & 24:17\\ 24:23 & 26:21\\ \end{array}$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:2       32:14         mind [1] 39:20       minds [1]         mints [1]       33:18         mine [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       6:8         8:3       42:11	54:9negative [10] $22:21$ $23:5$ $23:5$ $23:11$ $24:20$ $25:12$ $25:12$ $25:12$ $25:15$ $39:3$ $44:2$ $44:14$ $45:5$ negligence[3] $5:25$ $6:25$ $8:16$ nervous [1] $20:6$ never [4] $10:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ next [3] $43:14$ $48:25$ $54:7$ night [4] $28:21$ $29:4$ $36:11$ $41:16$ nine [1] $39:3$ nodes [2] $19:24$ $40:11$ nods [1] $5:20$ nondescript [1] $7:3$ none [4] $7:5$ $12:21$ $14:17$ $37:6$ nonspecific [1] $28:23$	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \mbox{number [4]}  11:7 \\ 11:14  12:7  46:24 \\ \mbox{numbers [1]}  11:15 \\ \mbox{nutritionally [1]} \\ 24:23 \\ \hline \begin{tabular}{lllllllllllllllllllllllllllllllllll$	$\begin{array}{c} \text{opinion} [22] & 17:18\\ 17:24 & 18:7 & 25:19\\ 26:13 & 33:7 & 42:6\\ 42:23 & 42:24 & 43:4\\ 43:13 & 52:19 & 57:11\\ 58:7 & 58:10 & 58:14\\ 58:16 & 58:20 & 58:23\\ 58:24 & 59:2 & 59:12\\ \text{opinions} [1] & 57:6\\ \text{opportunity} [3] & 31:14\\ 35:4 & 43:16\\ \text{opposed} [2] & 21:20\\ 23:2\\ \text{order} [1] & 29:18\\ \text{ordered} [1] & 32:12\\ \text{organism} [9] & 16:23\\ 17:7 & 19:2 & 19:21\\ 21:25 & 24:5 & 24:10\\ 26:15 & 27:19\\ \text{organisms} [6] & 20:22\\ 23:24 & 24:8 & 24:17\\ 24:23 & 26:21\\ \end{array}$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandatory [1]         53:2         Mandel's [1]         14:8           manifestations [1]         20:14         manually [2]         49:18           50:8         margin [1]         49:10         mark [1]         49:10	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:22       32:14         mind [1]       39:20         minds [1]       33:18         mine [1]       34:17         minute [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       6:8         8:3       42:11         MOORE [1]       2:18	54:9         negative [10] $22:21$ $23:5$ $23:11$ $24:20$ $25:12$ $25:15$ $39:3$ $44:2$ $44:14$ $45:5$ negligence[3] $5:25$ $6:25$ $6:25$ $8:16$ $8:16$ nervous [1] $20:6$ $9:414$ $10:14$ $11:5$ $13:13$ $24:14$ $new [1]$ $19:21$ $9:414$ $10:14$ $11:5$ $13:13$ $24:14$ $10:14$ $11:5$ $13:13$ $24:14$ $10:14$ $new [1]$ $19:21$ $10:14$ $11:5$ $new [1]$ $19:21$ $10:14$ $11:5$ $new [1]$ $19:21$ $10:14$ $11:5$ $next [3]$ $43:14$ $48:25$ $54:7$ $10:14$ $nine [1]$ $39:3$ $10:21$ $19:24$ $40:11$ $nodes [2]$ $19:24$ $40:11$ $10:221$ $14:17$ $37:6$ $nondescript [1]$ $7:5$ $12:21$ $14:17$ $37:6$ $10:223$ $10:24:233$	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \hline number [4]  11:7 \\ 11:14  12:7  46:24 \\ \hline numbers [1]  11:15 \\ \hline nutritionally [1] \\ 24:23 \\ \hline \hline \\ \hline \\ o'clock [2]  1:24 \\ 60:8 \\ oath [1]  5:7 \\ objection [5]  5:16 \\ 28:15  28:16  29:8 \\ 42:10 \\ \hline \\ observation [1]  49:8 \\ observe [2]  47:12 \\ 50:8 \\ observed [3]  37:22 \\ 41:17  46:17 \\ observing [1]  54:22 \\ obtain [2]  25:11 \\ 40:22 \\ \hline \end{array}$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $23:2$ order [1] $29:18$ ordered [1] $32:12$ organism[9] $16:23$ $17:7$ $19:2$ $21:25$ $24:5$ $24:10$ $26:15$ $27:19$ $0rganisms[6]$ $20:22$ $23:24$ $24:23$ $26:21$ origin [1] $21:14$ Osler's [2] $19:24$ $40:11$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10           mark [1] 40:17         mark'd [1]         3:2         3:2         3:2	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:22       32:14         mind [1]       39:20         minds [1]       33:18         mine [1]       34:17         minute [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       6:8         8:3       42:11         MOORE [1]       2:18         morning [2]       51:6	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16       10:14         11:5       13:13       24:14         new [1]       19:21       10:14         next [3]       43:14       48:25         54:7       19:24       29:4         36:11       41:16       10         nine [1]       39:3       10         nodes [2]       19:24       40:11         nodes [1]       5:20       10         nondescript [1]       7:3       10         none [4]       7:5       12:21         14:17       37:6       11         nonspecific [1]       28:23         nor [2]       13:	$\begin{array}{cccccccccccccccccccccccccccccccccccc$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $23:2$ order [1] $92:12$ ordered [1] $32:12$ organism[9] $16:23$ $17:7$ $19:2$ $21:25$ $24:5$ $24:10$ $26:15$ $27:19$ $0rganisms[6]$ $20:22$ $23:24$ $24:23$ $26:21$ origin [1] $21:14$ Osler's [2] $19:24$ $40:11$ $0therwise [2]$ $5:12$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10           mark [1] 40:17         mark 'd [1]         3:2         marked [1]         9:19	Michael's [1]       6:12         microbiology [1]       17:14         microhematuria [1]       20:9         might [s]       12:23         25:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:9       25:11         26:22       32:14         mind [1]       39:20         minds [1]       33:18         mine [1]       34:17         minute [1]       57:13         minutes [1]       53:18         misdated [1]       48:18         Mishkind [1]       2:3         mitral [3]       8:18         40:20       40:21         mixture [1]       27:19         month [2]       16:5         43:2       6:8         8:3       42:11         MOORE [1]       2:18         morning [2]       51:6         51:9       51:6	54:9         negative [10] $22:21$ $23:5$ $23:11$ $24:20$ $25:12$ $25:15$ $39:3$ $44:2$ $44:14$ $45:5$ negligence[3] $5:25$ $6:25$ $6:25$ $8:16$ $8:16$ nervous [1] $20:6$ $9:42$ never [4] $10:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ $9:414$ $9:55$ $54:7$ $9:414$ $48:25$ $54:7$ $9:314$ $48:25$ $54:7$ $9:44$ $36:11$ $41:16$ $9:33$ $9:36:11$ $41:16$ $9:32$ $9:44$ $40:11$ $9:33$ $9:32$ <	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \hline number [4]  11:7 \\ 11:14  12:7  46:24 \\ \hline numbers [1]  11:15 \\ \hline nutritionally [1] \\ 24:23 \\ \hline \hline \hline \\ o'clock [2]  1:24 \\ 60:8 \\ oath [1]  5:7 \\ objection [5]  5:16 \\ 28:15  28:16  29:8 \\ 42:10 \\ \hline \\ observation [1]  49:8 \\ observe [2]  47:12 \\ 50:8 \\ observed [3]  37:22 \\ 41:17  46:17 \\ observing [1]  54:22 \\ obtain [2]  25:11 \\ 40:22 \\ obtained [2]  45:3 \\ 48:11 \\ \end{array}$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $crdered [1]$ $32:12$ $crdered [1]$ $32:12$ $crdered [1]$ $21:25$ $24:5$ $24:10$ $26:15$ $27:19$ $crganisms [6]$ $20:22$ $23:24$ $24:23$ $26:21$ $crigin [1]$ $21:14$ $Osler's [2]$ $19:24$ $40:11$ $cherwise [2]$ $5:12$ $62:12$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10           mark [1] 40:17         mark (1]         3:2         marked [1]         9:19           material [8]         12:8         12:8         12:8	Michael's [1] $6:12$ microbiology [1] $17:14$ microhematuria [1] $20:9$ might [5] $12:23$ $25:9$ $25:11$ $26:22$ $32:14$ mind [1] $39:20$ minds [1] $33:18$ mine [1] $34:17$ minutes [1] $57:13$ minutes [1] $53:18$ misdated [1] $48:18$ Mishkind [1] $2:3$ mitral [3] $8:18$ $40:20$ $40:21$ mixture [1] $27:19$ month [2] $16:5$ $43:2$ months [3] $6:8$ $8:3$ $8:3$ $42:11$ MOORE [1] $2:18$ morning [2] $51:6$ $51:9$ mortality [1] $30:24$	54:9         negative[10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16       10:14         11:5       13:13       24:14         new [1]       19:21       10:14         next [3]       43:14       48:25         54:7       19:24       29:4         36:11       41:16       10         nine [1]       39:3       10         nodes [2]       19:24       40:11         nodes [1]       5:20       10         nondescript [1]       7:3       10         none [4]       7:5       12:21         14:17       37:6       11         nonspecific [1]       28:23         nor [2]       13:	$\begin{array}{cccccccccccccccccccccccccccccccccccc$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ order [1]ordered [1] $32:12$ organism[9] $16:23$ $17:7$ $19:2$ $21:25$ $24:5$ $24:5$ $24:10$ $26:15$ $27:19$ organisms [6] $20:22$ $23:24$ $24:8$ $24:23$ $26:21$ origin [1] $21:14$ Osler's [2] $19:24$ $40:11$ otherwise [2] $5:12$ $62:12$ ours [1] $16:1$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10           mark [1] 40:17         mark 'd [1]         3:2         marked [1]         9:19           material [8]         12:8         38:5         38:15         38:20	Michael's [1] $6:12$ microbiology [1] $17:14$ microhematuria [1] $20:9$ might [5] $12:23$ $25:9$ $25:11$ $26:22$ $32:14$ mind [1] $39:20$ minds [1] $33:18$ mine [1] $34:17$ minutes [1] $57:13$ minutes [1] $53:18$ misdated [1] $48:18$ Mishkind [1] $2:3$ mitral [3] $8:18$ $40:20$ $40:21$ mixture [1] $27:19$ month [2] $16:5$ $43:2$ $42:11$ MOORE [1] $2:18$ morning [2] $51:6$ $51:9$ $51:6$ mortality [1] $30:24$ most [5] 14:7 $14:8$	54:9         negative [10] $22:21$ $23:5$ $23:11$ $24:20$ $25:12$ $25:15$ $39:3$ $44:2$ $44:14$ $45:5$ negligence[3] $5:25$ $6:25$ $6:25$ $8:16$ $8:16$ nervous [1] $20:6$ $9:42$ never [4] $10:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ $9:414$ $9:55$ $6:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ $9:414$ $10:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ $9:21$ $9:4$ $36:11$ $41:16$ $10:14$ next [3] $43:14$ $48:25$ $54:7$ $9:24$ $40:11$ $10:12$ $10:24$ $40:11$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $11:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:14$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$ $10:12$	$\begin{array}{cccccccccccccccccccccccccccccccccccc$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $crder [1]$ ordered [1] $32:12$ organism[9] $16:23$ $17:7$ $19:2$ $21:25$ $24:5$ $24:5$ $24:10$ $26:15$ $27:19$ organisms [6] $20:22$ $23:24$ $24:8$ $24:23$ $26:21$ origin [1] $21:14$ Osler's [2] $19:24$ $40:11$ $0$ therwise [2] $5:12$ $62:12$ $0urs [1]$ $16:1$ $0utcome [1]$ $28:7$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8         margin [1]         49:10           mark [1] 40:17         mark 'd [1]         3:2         marked [1]         9:19           material [8]         12:8         12:8         12:8	Michael's [1] $6:12$ microbiology [1] $17:14$ microhematuria [1] $20:9$ might [5] $12:23$ $25:9$ $25:11$ $26:9$ $25:11$ $26:22$ $32:14$ mind [1] $39:20$ minds [1] $33:18$ mine [1] $34:17$ minutes [1] $57:13$ minutes [1] $53:18$ misdated [1] $48:18$ Mishkind [1] $2:3$ mitral [3] $8:18$ $40:20$ $40:21$ mixture [1] $27:19$ month [2] $16:5$ $43:2$ $42:11$ MOORE [1] $2:18$ morning [2] $51:6$ $51:9$ $51:6$ mortality [1] $30:24$ most [5] 14:7 $14:8$ $14:13$ $16:23$ $17:6$	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16          nevous [1]       20:6         never [4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:21       10:14         notes [1]       39:3       100des [2]       19:24         40:11       40:11       10       11         nodes [2]       19:24       40:11       12:21         14:17       37:6       12:21       14:17       37:6         nonspecific [1]       28:23       100:12       13:12       43:9         normal [8]       33:11       34:19       34:21       36:1         36:9       36:25       37:1       37:4	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \hline number [4]  11:7 \\ 11:14  12:7  46:24 \\ \hline numbers [1]  11:15 \\ \hline nutritionally [1] \\ 24:23 \\ \hline \begin{array}{r} -\mathbf{O}- \\ \hline o'clock [2]  1:24 \\ 60:8 \\ \hline oath [1]  5:7 \\ \hline objection [5]  5:16 \\ 28:15  28:16  29:8 \\ 42:10 \\ \hline observation [1]  49:8 \\ \hline observe [2]  47:12 \\ 50:8 \\ \hline observe [3]  37:22 \\ 41:17  46:17 \\ \hline observing [1]  54:22 \\ \hline obtain [2]  25:11 \\ 40:22 \\ \hline obtain [2]  45:3 \\ 48:11 \\ \hline occasion [1]  30:9 \\ \hline Occasionally [2] \\ 20:10  27:9 \\ \hline \end{array}$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $crdered [1]$ $32:12$ $crdered [1]$ $21:25$ $24:5$ $24:52$ $24:10$ $26:15$ $27:19$ organisms [6] $20:22$ $23:24$ $24:8$ $24:17$ $24:23$ $26:15$ $27:19$ orgen isms [6] $20:22$ $23:24$ $24:8$ $24:17$ $24:23$ $26:12$ $19:24$ $40:11$ $0$ therwise [2] $5:12$ $62:12$ $0urs [1]$ $16:1$ $0utcome [1]$ $28:7$ $0utline [1]$ $11:23$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandatory [1]         53:2         Mandel's [1]         14:8           manifestations [1]         20:14         manually [2]         49:18           50:8         margin [1]         49:10         mark [1] 40:17           mark [1] 40:17         mark [1]         3:2         marked [1]         3:2           marked [1]         9:19         material [8]         12:8         38:5         38:15         38:20           39:1         39:6         39:6         39:6         39:6         39:6	Michael's [1] $6:12$ microbiology [1] $17:14$ microhematuria [1] $20:9$ might [s] $12:23$ $25:9$ $25:11$ $20:9$ mindt [1] $39:20$ minds [1] $33:18$ mine [1] $34:17$ minute [1] $57:13$ minutes [1] $53:18$ misdated [1] $48:18$ Mishkind [1] $2:3$ mitral [3] $8:18$ $40:20$ $40:21$ mixture [1] $27:19$ month [2] $16:5$ $43:2$ $42:11$ MOORE [1] $2:18$ morning [2] $5:1:6$ $51:9$ $51:6$ mortality [1] $30:24$ most [5] 14:7 $14:8$ $14:13$ $16:23$ $17:6$ $7:6$	54:9negative [10] $22:21$ $23:5$ $23:5$ $23:11$ $24:20$ $25:12$ $25:12$ $25:12$ $25:15$ $39:3$ $44:2$ $44:14$ $45:5$ negligence[3] $5:25$ $6:25$ $8:16$ nervous [1] $20:6$ never [4] $10:14$ $11:5$ $13:13$ $24:14$ new [1] $19:21$ next [3] $43:14$ $48:25$ $54:7$ night [4] $28:21$ $29:4$ $36:11$ $41:16$ nine [1] $39:3$ nodes [2] $19:24$ $40:11$ nods [1] $52:0$ nondescript [1] $7:5$ $12:21$ $14:17$ $37:6$ nonspecific [1] $28:23$ nor [2] $13:12$ $43:9$ normal[8] $33:11$ $34:19$ $34:21$ $36:9$ $36:25$ $37:4$ normally [1] $52:10$ Notary [4] $1:20$ $61:24$ $62:5$ $62:17$	$\begin{array}{rrrrrrrrrrrrrrrrrrrrrrrrrrrrrrrrrrrr$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $23:2$ ordered [1] $32:12$ organism [9] $16:23$ $17:7$ $19:2$ $21:25$ $24:5$ $24:10$ $26:15$ $26:15$ $27:19$ organisms [6] $20:22$ $23:24$ $24:8$ $24:17$ $24:23$ $26:21$ $0rigin [1]$ $21:14$ $0sler's [2]$ $9:11$ $0therwise [2]$ $5:12$ $62:12$ $0urs [1]$ $16:1$ $0utcome [1]$ $28:7$ $0utline [1]$ $11:23$ $0utpatient [1]$ $50:9$
M [3]         1:19         62:5           62:17         M.D [8]         1:9         1:13           1:16         2:11         3:4           3:9         61:18         62:6           ma'am [5]         13:24           15:14         33:3         53:5           53:11         makes [1]         49:17           malignancy [1]         20:23         Malone [1]         8:9           man [1]         8:18         managed [1]         28:2           mandated [1]         47:23         mandatory [1]         53:2           Mandel's [1]         14:8         manifestations [1]         20:14           manually [2]         49:18         50:8         50:8           margin [1]         49:10         mark [1] 40:17         mark [1] 40:17           mark [1] 40:17         3:2         marked [1]         9:19           material [8]         12:8         38:5         38:15         38:20           39:1         39:6         39:6         50:4	Michael's [1] $6:12$ microbiology [1] $17:14$ microhematuria [1] $20:9$ might [5] $12:23$ $25:9$ $25:11$ $26:9$ $25:11$ $26:22$ $32:14$ mind [1] $39:20$ minds [1] $33:18$ mine [1] $34:17$ minutes [1] $57:13$ minutes [1] $53:18$ misdated [1] $48:18$ Mishkind [1] $2:3$ mitral [3] $8:18$ $40:20$ $40:21$ mixture [1] $27:19$ month [2] $16:5$ $43:2$ $6:8$ $8:3$ $42:11$ MOORE [1] $2:18$ morning [2] $51:6$ $51:9$ $51:6$ mortality [1] $30:24$ most [5] 14:7 $14:8$ $14:13$ $16:23$ $17:6$	54:9         negative [10]       22:21         23:5       23:11       24:20         25:12       25:15       39:3         44:2       44:14       45:5         negligence[3]       5:25       6:25         6:25       8:16          nevous [1]       20:6         never [4]       10:14         11:5       13:13       24:14         new [1]       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:21         next [3]       43:14       48:25         54:7       19:21       10:14         notes [1]       39:3       100des [2]       19:24         40:11       40:11       10       11         nodes [2]       19:24       40:11       12:21         14:17       37:6       12:21       14:17       37:6         nonspecific [1]       28:23       100:12       13:12       43:9         normal [8]       33:11       34:19       34:21       36:1         36:9       36:25       37:1       37:4	$\begin{array}{r} 48:23  49:16  50:6 \\ 50:16  50:25  51:11 \\ 53:12  53:22  55:15 \\ 56:19 \\ \hline number [4]  11:7 \\ 11:14  12:7  46:24 \\ \hline numbers [1]  11:15 \\ \hline nutritionally [1] \\ 24:23 \\ \hline \begin{array}{r} -\mathbf{O}- \\ \hline o'clock [2]  1:24 \\ 60:8 \\ \hline oath [1]  5:7 \\ \hline objection [5]  5:16 \\ 28:15  28:16  29:8 \\ 42:10 \\ \hline observation [1]  49:8 \\ \hline observe [2]  47:12 \\ 50:8 \\ \hline observe [3]  37:22 \\ 41:17  46:17 \\ \hline observing [1]  54:22 \\ \hline obtain [2]  25:11 \\ 40:22 \\ \hline obtain [2]  45:3 \\ 48:11 \\ \hline occasion [1]  30:9 \\ \hline Occasionally [2] \\ 20:10  27:9 \\ \hline \end{array}$	opinion [22]17:18 $17:24$ $18:7$ $25:19$ $26:13$ $33:7$ $42:6$ $42:23$ $42:24$ $43:4$ $43:13$ $52:19$ $57:11$ $58:7$ $58:10$ $58:14$ $58:16$ $58:20$ $58:23$ $58:24$ $59:2$ $59:12$ opinions [1] $57:6$ opportunity [3] $31:14$ $35:4$ $43:16$ opposed [2] $21:20$ $23:2$ $crdered [1]$ $32:12$ $crdered [1]$ $21:25$ $24:5$ $24:52$ $24:10$ $26:15$ $27:19$ organisms [6] $20:22$ $23:24$ $24:8$ $24:17$ $24:23$ $26:15$ $27:19$ orgen isms [6] $20:22$ $23:24$ $24:8$ $24:17$ $24:23$ $26:12$ $19:24$ $40:11$ $0$ therwise [2] $5:12$ $62:12$ $0urs [1]$ $16:1$ $0utcome [1]$ $28:7$ $0utline [1]$ $11:23$

PATTERSON-GORDON REPORTING, INC.

Index Page 5

#### own - rely JULY 6,2000

**CondenseIt!**<sup>TM</sup>

### DAVID LONGWORTH, M.D.

<b>own</b> [1] 20:16	Downschunger	2.0	20.00		T • •
<b>Own</b> [1] 20.10	Perrysburg[1]	2:9 37:17	30:23 [present[8] 18:6	41:16 <b>Drovidon</b> corra 2:15	receiving[1] 32:21
-P-	<pre>person[1] personal[3]</pre>	13:20	19:17 22:13 23:12	Providence[1] 3:15	recent[1] 23:15
		13:20	25:13 25:19 45:15	proximity[1] 8:23	<b>recheck [3]</b> 47:16 48:2 53:14
P-O-G-G-I [1] 33:	personally <sup>[4]</sup>	5:2	46:13	prudent[1] 39:5	recollection [11]
<b>p.m</b> [2] 1:24 60:8	35.11 35.14	35:22	presentation [4]	Public[4]         1:20           61:24         62:5         62:17	31:13 31:17 32:20
page [6] 35:19 40:1	5 petechiae[1]	40:13	7:7 29:15 34:11	publications[5]	32:24 32:25 34:13
44:8 48:22 61:: 61:5	phrased [1]	5:9	34:18	<b>11:7</b> 14:14 14:18	50:2 55:24 56:15
	physical [6]	29:13	presented [2] 29:17	15:5 15:12	57:4 59:8
<b>pain</b> [1] 46:18	29:23 35:1	36:2	34:1	<b>published</b> [1] 16:19	recommendation[3]
participate[1] 15::	36.0 50.10		presenting[1] 33:17	purposes [1] 3:2	51:24 52:4 53:19
participated[1]14:2	physician 3	7:24	presents [2] 28:11	pursuant[1] 1:21	recommendations[2]
particular [7] 14: 14:22 16:14 16:2	5 -31.12 - 54.16		28:21	1.	30:5 30:13
14:22 16:14 16:2 25:18 52:18 56:9	physicians [10		pressure [1] 38:11	pursue [1]         30:1           purulent [6]         38:5	recommended[1]
particularly[2] 29:2	19:11 50:15	51:15	presume [1] 37:21	38:15 38:19 39:1	42:3
37:15	51:21 51:23	52:5	presumptive[1]	39:6 50:4	<b>record</b> [7] 9:17 11:15 31:20 33:5
party [1]62:12	<b>53:8</b> 56:16	59:6	25:20	<b>pus</b> [3] 36:13 51:2	34:23 51:8 56:10
<b>pass</b> [1] 10:19	<b>Pike</b> [1] 3:15		pretreated [1] 24:24	51:6	records [8] 5:22
	place [2]	45:19	preventable [1] 58:25	put <sub>[1]</sub> 11:13	12:20 12:24 13:22
		0.00	<b>fireviously</b> [6] 15:12	<b>PVE</b> [4] 18:22 20:11	17:20 31:15 35:5
] <b>past</b> [1] 11:24	placed[1]	8:20	<b>24:8 26:17 31:11 31:21 58:6</b>	22:3 43:25	41:4
pathogens [1] 25:1	Plaintiff [9]	1:7			recovered[1] 8:22
patient [35] 5:3	1:17         2:2           6:14         6:15	6:11 6:19	<b>primary [6]</b> 50:11 50:13 51:15 51:21	-Q-	red [2] 20:9 29:5
7:8 18:11 18:1 19:8 21:19 21:2		0.17	51:23 52:8	qualified[1] 62:6	<b>redated</b> [1] 48:17
22:9 23:9 24:1		3:1	privileges [3] 10:23	question-and-answer	<b>reduce</b> [1] 45:9
25:2 26:16 28:1	6:18 8:13	9:19	10:25 11:3	[1] 5:7	reduced [2] 11:22
28:13 28:20 29:2	<b>Plaintiffs</b> <sup>[1]</sup>	7:7	procedure [6] 3:6	questionable[1]	62:8
29:7 30:3 30:6	plan [4] 47:9	51:12	33:16 34:9 34:19	41:11	refer[2] 5:22 44:12
<b>30:11</b> 31:8 31:9 <b>32:5</b> 32:11 32:1	51.10 51.10		34:21 56:4	questions[5] 5:8	<b>reference</b> [4] 40:16
<u>33:2</u> <u>33:12</u> <u>33:12</u>		1:1	<b>[iroduced [3]</b> 23:24	35:23 60:1 60:3	41:8 49:17 50:7
34:11 34:20 35:1		46:9	28:1 28:24	60:4	<b>referral</b> [2] 16:1
36:2 38:24 51:9	pleural [1]	46:11	professional [1]	quite[2] 34:22 46:19	27:19
56:5	Poggi [7]	33:24	4:11	<b>quotation</b> [1] 35.18	<b>referring</b> [1] 40:10
<b>patient's</b> [1] 30:1	8 34:3 34:10	37:18	progress [1] 52:2	quote[2] 35:18	<b>refine</b> [3] 21:18
patients [19] 15:2	2 48:15 51:8	52:3	<b>[irolonged[2]</b> 8:22	44:9	22:5 23:7
<b>16:3</b> 18:21 18:2	, IIVSSIDD	35:6	45:8	l	reflect [4] 32:10
18:24 18:25 20:1		50:6	prominent [2] 20:17	-R-	35:12 38:1 44:22
20:20 22:24 23:1 24:24 29:1 29:1	00.10		29:10	raise[1] 41:19	reflected [2] 37:20
29:19 32:6 32:8	point[5]5:15	5:21	<b>firostheses</b> [1] 18:22	<b>rales</b> [1] 36:17	38:16
33:11 35:3 46:2	11:24 58:12	58:15	<b>tirosthetic</b> [63] 11:8 11:13 11:19 14:25		reflects[6] 36:12
Patterson [3] 1:19	<b>Polito</b> [6]	2:12 <b>29:8</b>	11:13 11:19 14:25 15:9 15:23 16:10	<b>rare</b> [5] 15:24 19:12 20:19 23:23 27:2	36:14 39:16 44:13 46:8 51:5
62:5 62:17	2:13 28:16 42:10 60:3	29:8	16:22 17:7 17:14	<b>rarely</b> [1] 24:4	
<b>Pause</b> [1] 57:1	portion[1]	40:7	17:18 18:2 18:8	rate [8] 16:17 16:18	<b>regard</b> [17] 7:23 12:11 16:22 17:17
<b>[paused</b> [1] 23:2		24:14	18:11 18:13 18:15	16:25 21:7 21:13	23:22 26:16 31:25
people[1] 24:2	26:3 26:9	24:14 26:12	18:17 19:8 19:15	46:22 55:16 55:18	34:14 36:4 39:25
people's[1] 33:1		-0.14	20:1         20:13         20:17           20:19         21:7         21:16	rates [1] 16:20	40:2 40:22 41:15
Pepper[1] 3:15	possession [2]	11:25	21:20 22:8 22:10	<b>rather</b> [1] 28:14	43:18 50:23 55:2 56:13
percent[8] 15:2			22:13 24:4 25:20	read [4] 32:17 41:1	
16:18 24:15 24:1	<b>possibility</b> [1]	29:25	26:14 26:23 27:7	49:12 61:2	<b>regarding</b> [3] 12:16 32:18 55:25
30:24 54:14 54:2	possible <sub>[3]</sub>	27:12	27:12 27:21 28:5	readmitted[2] 56:20	regardless[1] 53:24
55:4	31:8 55:21		<b>28:20 28:24 29:3 30:3 30:11 30:22</b>	57:21	Registered [1] 1:19
percentage[2] 15:2	postcul alotoi		30:23 <b>33:12</b> 33:14	reason [2] 23:22	regurgitant[1] 19:22
27:16	43:22 46:4	46:5	38:24 39:2 40:3	39:19	
Perhaps [1] 8:3	55:14 55:22	<b>a</b> o :	41:12 41:20 43:6	reasonable[1] 59:13	· •
pericardial[1] 46:1		39:1	45:20 46:1 47:7	reassurance[1] 25:16	<b>related</b> [2] 8:25
pericardium[1]	practice [6]	10:1	47:25 54:20 55:5 57:25 58:8 58:17	recalled[1] 13:12	
46:8	10:11 15:15 36:1 40:25	35:24	59:9 59:21	<b>receive</b> <sup>[2]</sup> 16:3	<b>relative[2]</b> 22:1 62:12
period[1] 25:4		22.22	provide [2] 4:11	58:1	<b>relevance</b> [1] 14:16
peripheral [3] 27:3	predicted[1]	22:22	56:19	received [6] 7:9	
36:15 40:12	prepared <sup>[1]</sup>	12:10	provided [5] 3:5	25:3 44:18 44:20	
Permanente [1] 2:16	presence [2]	30:16	9:14 12:17 33:1	45:1 58:3	<b>rely</b> [3] 23:13 23:20 41:1
					71,1

Index Page 6

# CondenseIt!<sup>TM</sup>

#### remained - systems JULY 6,2000

		,						JULY	6,2000
remained [1]	54:4	Road [2] 2:9	3:15	serologically	[1]	specifically [9	] 11:8	42:2 42:16	· · · · · · · · · · · · · · · · · · ·
remember [7]	8:15	Roetzel <u>r</u> i <u>i</u>	2:18	24:2		11:12 12:16 36:21 36:23	36:14 38:17	subsequently	[3]
32:14         32:15           35:13         52:16	35:8 57:19	room [3] 7:3	7:9	served[2] 7:18	6:6	43:24 52:3	30.17		13:14
<sup>1</sup> removal [2]	30:6	57:8	15:19	services[1]	4:11	specified[1]	62:11	successfully [28:2 59:10	2]
30:13	30.0	rough <sup>[1]</sup> routine <sup>[1]</sup>	23:25	serving[1]	6:19	spine[1]	37:2	such [9] 8:2	12:4
removed [4]	49:18			session[1]	5:7	spleen[1]	20:7	13:25 16:1	19:23
49:25 50:8	51:6	routinely [2]	7:21	set [3] 1:24	62:8	splenomegaly	<b>[2]</b>	20:8 26:21	29:1
1 <b>rendered</b> [4] 4:5 10:5	4:1 59:16	rub [5] 36:21	46:11	62:13		20:4 36:24		46:21	50.10
10.5 prepair[1]	8:19	46:15 49:13	49:14	setting [1]	25:15	<b>splinter</b> [2] 40:11	19:24	suffered[1]	59:19 47:18
ireplacement[7		Ruben [1]	8:15	several[7]	4:23	spoke[2]	40:4	suggest[1] suggested[6]	47:18
16:11 27:2	27:14	rule [6] 45:25 47:3 54:12	46:25 54:17	6:3 9:4 29:4 30:16	26:11 44:20	41:24	10.1	47:13 48:2	48:10
27:23 30:14	32:3	54:24	54.17	shape[1]	31:8	spoken[1]	52:17	51:24 54:21	
40:21	24.14	rules [2] 3:6	5:5	show [1]23:11	0 110	SS [1] 62:2		suggesting[1]	23:18
1 <b>report</b> [2] 41:1	34:14	ruling [1]	54:17	shown[2]	42:9	St[1] 6:12		suggestion[1]	
reporter[2]	1:20			42:21		stand[1]	35:9	suggests[1]	35:22
5:20		<u>-S-</u>		sick[1] 31:9		standard [1]	14:10	suit <sub>[1]</sub> 5:25	<b>.</b>
represent [1]	15:6	<b>S</b> [1] 2:13		<b>sign</b> [3] 21:10 22:7	21:11	staph [6] 24:7 26:18	20:11	Suite <sup>[2]</sup> 2:4 superficial <sup>[1]</sup>	2:14
representative	e[1]	S32[1] 3:24		signature [2]	32:16	27:21 27:24	26:21	Superior[1]	39:10 2:14
Lî.LU	_	saw [25] 31:21	32:1	60:7	52.10	staphylococca	d [1]	surgery[11]	15:25
REQUESTEI	D[1]	32:11 33:2 33:19 35:10	33:8	significance	2]	57:24		16:12 16:21	27:2
61:5 frequestirg[1]	32:22	33:19 35:10 37:11 37:19	37:8 37:24	14:23 40:2	-	staphylococci	[1]	27:24 30:20	31:10
required[3]	5:17	38:6 44:10	45:24	significant[1]		17:9	0.11	32:4 45:16 58:22	46:7
26:13 27:7		47:10 50:20 51:16 53:6	51:9 54:11	<b>signs</b> [7] 19:14 19:19 21:15	19:18 21:22	state [7] 1:20 10:2 48:9	3:11 62:2	surgical [5]	28:3
requires [1]	27:23		58:12	21:24 22:2	21:22	62:5 62:17	0	30:13 30:17	30:21
research [2]	14:24	55:10 58:13 59:24	36:12	single <sup>[1]</sup>	14:17	statement[2]	42:5	33:16	
15:4 resident [7]	33:21	says[7] 41:10	42:5	single-family		53:21		surmise[1]	32:10
33:23 34:3	33:21	43:24 44:8 51:18 54:20	50:25	3:19	~ ~	states[1]	10:9	survived [1]	8:22
34:8 37:18	52:13	Schmidt [1]	13:10	sit[2] 14:15	31:16	status [1]	43:18	suspect[2] 43:25	29:25
resolved[3]	7:15	seal[1] 62:14	15.10	<b>site [4]</b> 45:18 50:25 51:10	49:23	statute[1]	1:18 62:8	suspended [2]	10:12
9:1 9:2		second[3]	34:4	50:25 51:10 <b>Six</b> [3] 6:8	27:9	stenotypy[1] STEPHEN [1]	2:8	11:4	10.14
responsibility	/[1]	40:8 40:15		46:6	21.9	sternal[1]	2.8 36:19	suspicion[2]	28:19
restate[1]	5:11	sedimentation		skills[1]	15:18	stigmata[7]	7:6	41:20	
result [2]	23:11	$\begin{array}{ c c c c c c c c c c c c c c c c c c c$	46:22	skin [4] 26:20	36:16	19:23 20:8	36:15	sustained[5] 23:1 25:22	22:18 26:7
25:12		see [20] 15:22	16.3	40:8 48:25		<b>40:8</b> 40:9	49:14	31:1	20.7
<sup>1</sup> results [1]	48:7	22:9 25:22	26:18	SKIVER [4] 29:9 43:15	2:8 60:5	<b>still</b> [4] 5:17 23:12 42:13	12:8	suture [7]	37:25
retained [1]	37:25	32:5 32:8	32:9	Skylight[1]	2:4	stipulations	11/21	40:18 41:11	41:23
retrieval [1]	27:3	32:13 34:2 34:22 35:17	34:16 36:15	slightly[1]	22:2	stitch[13]	36:12	41:25 50:3	51:5
retrospective	[1]	41:2 48:12	48:21	solitary[1]	14:17	37:23 37:25	38:20	sweats[3] 29:4 41:16	28:21
review[8]	12:6	49:20 56:23	57:2	someone[1]	12:10	43:21 45:11	45:15	Switzer [1]	2:12
31:15 31:20	33:4	seeding [2]	19:3	sometimes [2]	30:4	49:18 49:25 50:23 55:13	50:7 55:19	SWOTN [3]	3:7
34:20 35:5	35:21	45:23 seeing[3]	32:1	46:18		stopped [1]	44:23	61:20 62:7	
60:5	10.10	32:2 50:2	52.1	somewhere [1]			2:5	syllabus [3]	11:23
<b>reviewed</b> [6] 12:14 12:19	12:12 13:2	seem[2] 18:24	19:1	sooner[2]	28:4	Street [2]		12:8 12:10	20.17
17:20 42:8		sees [1] 27:20		28:14		Strep[1]	20:23	symptom[2] 22:7	20:17
revoked [2]	10:13	selected[1]	27:1	<b>sorry</b> [1] 6:15 source [3]	22:19	streptococci [1	]	symptoms [11]	19:14
11:4		send [4] 29:5	38:20	39:1 45:23		24:23	50.15	19:19 19:20	20:16
right [9] 14:20 41:14 49:2	36:11 49:19	39:20 47:15	20.0	speak [1]	57:15	strongly [1] studies [2]	52:15 15:6	21:15     21:22       22:2     28:23	21:24 29:18
49:22 49:24	<del>4</del> 9.19 51:6	sending[3] 39:15 47:13	39:6	speaking[1]	52:16	53:2	10.0	46:14	29.10
53:21		sensitivity[4]	23:15	speaks [1]	48:11	study [1]	42:20	syndrome[8]	8:21
ring [3] 26:2	26:4	23:20 25:8	45:9	specialist [2]	30:4	subacute [1]	32:18	8:24 43:22	46:4
31:4 risk [8] 18:13	10.17	sepsis[2]	8:21	52:20	24-2	subject [2]	11:19	46:5 46:5 55:22	55:14
<b>11SK [8]</b> 18:13 30:20 33:7	18:17 33:9	8:24		specialized [1]	24:2 17:11	14:25		syringe[1]	38:9
33:11 33:13	45:20	serial [1]	22:23	specific [3]	17:11 21:11	Subscribed [1]		system[1]	20:6
River[1]	2:9	series[1]	27:20	22:8 32:21		subsequent [3]	17:22	systems[1]	35:22
		1		1				1	

PATTERSON-GORDON REPORTING, INC.

Index Page-7

### tail - zip JULY 8,2000

CondenseIt!<sup>TM</sup>

		1		<u> </u>					
-T-		tract [3] 43:23 47:4	46:25	isual 56:4	[2]	34:9	-W-		
tail[1] 53:16		transcribed	62:9	isuall	V [3]	24:16			
taking	58:21	transcript [1]		31:7	45:6		waived [1]	60:7	
taped [1]	12:1	transcription		itilizi	ng[1]	24:2	warranted [2] 53:4	39:5	
tapes[1]13:3	12.1	62:9	_				week [1] 42:12		
taught [1]	11:18	transesophage			-V-		weeks[6]	23:19	
team [3] 50:11	50:13	40:16 40:23 42:7 43:1	41:19 44:3	/alue[		22:22	23:19 27:9	29:4	
52:8	00110	treat [1] 28:13	1112	/alver	83]	8:18	33:17 46:7		
TEE [2] 40:17	42:4	treatable [1]	27:16	11:8 15:1	Ĩ1:13 15:9	11:19 15:23	weight[3] 20:18 20:25	20:12	
tells [1] 5:18		treated[5]	26:17	15:25	16:11	16:11	West [1] 2:5		
temperature [5		26:24 26:25	28:5	16:21	16:22	17:7	WHEREOF	162.13	
47:15 47:16 47:21	47:19	59:10	5.04	17:13 17:19	$17:15 \\ 18:2$	17:16 18:8	whole	62:7	
temperatures	1.21	treating [1]	7:24	18:12	18:13	18:16	within [9]	22:24	
44:7 55:9		treatment [1]	27:6	18:17	19:3	19:9	23:18 25:3	42:15	
ten [1] 46:6		trending[1] trial[3] 4:22	44:7 7:13	19:15 20:13	20:1 20:18	20:2	43:2 54:1	62:5	
tend[1] 18:22		8:10	7:15	20:15	20:18 21:8	20:19 21:16	62:6 62:17 without [6]	22:19	
	36:24	true [3] 28:8	58:19	21:20	22:3	22:8	23:15 28:3	49:13	
tends [1]	27:22	62:9		22:10	22:13	25:20	59:11 62:11		
terms [2]	5:11	truth [3] 62:7	62:7	26:14 27:7	26:23 27:12	27:1 27:14	witness [6]	1:17	
30:19		62:7		27:21	27:23	28:1	4:23 7:19 60:6 62:13	9:5	
test[1] 29:23 testes[1]	37:1	tube [1] 8:20 turn [1] 24:14		28:5	28:20	28:25	woman [1]	7:2	
testified [1]	53:18	two [15] 4:25	8:3	29:3 30:11	30:3 30:14	30:7 30:22	won[1] 7:16	1.2	
testify [1]	62:7	16:7 23:19	8.3 23:19	30:23	31:5	32:3	workup [5]	30:1	
testimony [9]	7:13	24:15 24:16	26:22	33:12	33:14	38:24	47:23 47:24	47:25	
7:24 8:1	8:10	31:22         33:17           38:5         38:25	36:13 45:7	39:2 40:21	40:3 41:12	40:20 41:21	48:4		
8:11 9:5	9:9	55:19	43:7	43:6	45:16	45:20	worsening[1]	31:4	
62:8 62:9	29:21	two-day [1]	21:4	46:1	47:7	47:25	wound [5] 38:14 39:17	36:19 50:3	
testing [1] textbook [2]	14:5	two-week [1]	25:4	54:21 58:8	55:5 58:17	57:25 59:9	51:3	50.5	
14:9	14.5	type [2] 17:19	38:13	59:22	00.11		write [2] 34:23	35:24	
Thank 121	11:18	types [1]	29:18	'alves	[2]	18:23	written [6]	12:1	
60:6		typical [3]	16:8	24:4			12:14 33:24 34:20 52:1	34:16	
therapy [4] 31:1 31:3	30:25 31:5	16:9 25:1		ralvul 28:10	ar [2]	22:12	34:20 52:1 wrote [4]	35:9	
Thereupon <sup>[1]</sup>	3:1	-U-		rariab	lem	19:1	36:5 49:12	50:16	
thinking [2]	29:6		7.4	varian		24:23			
41:23	29.0	ultimately p] 57:23 59:21	/:4	'ariou		15:7	-Y-		
thought [8]	34:24	uncommon [1]	20.14	'ary [2]		21:25	year [2] 34:3	34:4	
39:9 39:10 41:23 43:19	39:13	under [4]	1:18	regeta	tion [1]	26:4	years [2]	7:21	
41:23 43:19 55:12	55:4	5:7 40:7	46:24		tions [4]		12:7		
three [6] 16:7	26:19	undergo[1]	30:6	22:16		26:1	yet [1] 23:11		
37:8 37:14	42:11	undergone[1]	8:18	rerbal: 52:11		5:19	young [1]	7:2	
42:15		understand [3] 5:9 25:5	5:8	rerifie		35:25	yourself[1]	37:5	
through [4] 17:21 31:9	12:15 61:3	understood [1]	5.13	rideo		7:14	-Z-		
Thursday [1]	1:14	unexplained [1		11:23	12:1	172.1			
times [2]	4:17	47:5	1	'igilar	ice 1-21	19:7	zip[1] 3:17		
6:3		unless [1]	5:17	19:10	. 4				
timing [1]	31:7	unquote [2]	35:19	'iruler		27:22			
today [1]	14:15	44:9		iscera' isit [3]		20:7 51:3			
together [1]	34:2	unremarkable	[1]	51:11	20:2	21:2			
too [1] 31:9		unusual [1]	15:9	'itae 16	19:16	9:18			
TOSTI [5] 3:10 42:13	2:4 57:12	Up[3] 29:5	50:9	9:24	11:6	11:11			
59:25	J1.14	53:9	0.0.2	15:6					
towards [1]	27:14	urinary [3]	43:23	'olumo		16:21			
Tower <sup>[1]</sup>	2:4	46:25 47:3	(= : -	' <b>S</b> [1]	1:8				
l		urine [2] 20:10	47:13						
Index Page 8						ΡΔΤ	TFRSON-GO	ORDON	REPORTING. INC

Index Page 8



August 5, 2000

David L Longworth, M.D. Chairman Dept. Of Infectious Disease / S32 . Office: 216/444-8841

> Appointments: 216/444-8845 Fax: 216/445-9446 E-mail: longwod@cct.org

Stephen A. Skiver, Esq. Attorney at Law 30025 East River Road Perrysburg, Ohio 43551

Re: Diane Colvin, etc., et. al v. Keith Kruithoff, M.D., et al. File No: CCF-10004 Longworth Deposition of July 6, 2000

"

Dear Mr. Skiver:

Thank you for your letter of July 21, 2000, along with the transcript of my deposition. Regrettably, no corrections page was included. I will therefore detail in this letter, which will be notarized, the very minor corrections which are as follows:

- 1. Page 7, Line 6: "of" should be "at".
- 2. Page 8, Line 6: "Hibiby" should be "Halevi".
- 3. Page 8, Line 19: "list" makes no sense and should be deleted; it is a transcription error.
- 4. Page 13, Line 10: "Schmidt" should be "Schmitt".
- 5. Page 14, Line 8: "Mandel's" should be "Mandell's."
- 6. Page 17, Line 11: "species" should read "series," a ' transcription error.
- 7. Page 22, Line 22: "predicted" should read "predictive," a transcription error.
- 8. Page 29, Line 23: "test" should be deleted.

Stephen A. Skiver, Esq. August 5, 2000 Page Two -

- 9. Page 36, Line 24: "costovertebrosacral" should read "costovertebral angle:", a transcription area.
- 10. Page 45, Line 23: "line" should read "valve."
- 11. Page 48, Line 20: "anotated" is misspelled and should read "annotated."
- 12. Page 52, Line 22: "would" should read "could", a transcription error.

With the exception of the very minor corrections outlined above, the remainder of my deposition is accurate as transcribed. This letter will be notarized by Ms. Sherrie Elpiner, a Notary Public.

11

Sincerely yours hived

DLL:se

SHERRIE ELPINER Notary Public - State of Ohia My Commission Expires February 26,2001

ge en se

je.

4.