

1 APPEARANCES:

2

3 On behalf of the Plaintiff:

4 Antonios P. Tsarouhas, Attorney at Law
 5 Perantinides & Nolan
 6 80 South Summit Street
 300 Courtyard Square
 Akron, Ohio 44308

7

8 On behalf of the Defendants:

9 Patrick J. Murphy, Attorney at Law
 10 Bonezzi, Switzer, Murphy & Polito
 Leader Building, Suite 1400
 11 526 Superior Avenue
 Cleveland, Ohio 44114

12

13 - - - - -

14 **I N D E X**

15 EXAMINATION BY PAGE
 Mr. Tsarouhas 3

16 PLAINTIFF'S EXHIBITS PAGE
 17 1, Drawing 90

18 - - - - -

19

20

21

22

23

24

25

1 Whereupon,

2 MARK LUCIANO, M.D

3 who, being first duly sworn, testified as
4 follows:

5 CROSS-EXAMINATION

6 BY MR. TSAROUHAS:

7 Q Mr Luciano, my name is Tony Tsarouhas I
8 represent the Plaintiff here in this case.
9 Could you please state your full name for the
10 Record?

11 A. Mark Gregory Luciano.

12 Q Dr. Luciano, have you given a deposition before?

13 A. Once, yes

14 Q What kind of case did you give a deposition in?
15 A. It was a case as a resident at University of
16 Pennsylvania and it involved a pituitary tumor
17 dissection. I was not specifically named

18 Q I expect there was a lawsuit involved because of
19 medical care?

20 A. Because of the surgical hemorrhage at that time.

21 Q You were a resident?

22 A. I was a resident, yes

23 Q How long ago was that?

24 A I guess it was -- It was my chief year, so '85,
25 something like that.

1 Q. And you haven't given any depositions since for
2 any reason?

3 A. No.

4 Q. And you probably already know this, I'm sure Mr.
5 Murphy has already gone over it with you, but as
6 far as for the purpose of this deposition you
7 have to verbalize all of your responses.

8 A. Okay.

9 Q. Because the court reporter has to take it down
10 and I promised the court reporter that I would
11 speak a little bit slower so she could take
12 everything down accurately.

13 If you don't understand a question,
14 please let me know, because we don't want you
15 answering a question that you're not sure of or
16 you didn't understand. Is that fair enough?

17 A. Okay.

18 Q. You'll let me know that and I'll fix the
19 question?

20 A. Yes.

21 Q. What have you reviewed in preparation of this
22 deposition?

23 A. I reviewed the patient chart and I guess the
24 medical records with tabs.

25 MR. MURPHY: This is a Xerox copy that

1 I put together.

2 MR. TSAROUHAS: That's fine.

3 Q. Other than the chart, have you reviewed any kind
4 of summaries, notes or anything?

5 A. There are notes after my care that are included
6 in the summary.

7 MR. TSAROUHAS: I guess actually let
8 me just take a look here --

9 MR. MURPHY: Sure.

10 MR. TSAROUHAS: -- if you don't mind.

11 MR. MURPHY: No. I think those are
12 what you had sent me with Dr. Ruch and Dr. Mars.
13 BY MR. TSAROUHAS:

14 Q. So you've had an opportunity to review
15 essentially the Cleveland Clinic records from
16 like December of '96 through to perhaps present?

17 A. Uh-huh. There even are some from '92, yes.

18 Q. You reviewed the notes of Dr. Harold Mars?

19 A. Yes.

20 Q. And Dr. Ruch?

21 A. Yes.

22 Q. All right. And I take it you probably reviewed
23 some notes from Dr. Sahgal's office?

24 A. Yes.

25 Q. Do you know Dr. --

1 A. I could not read them, but I did look at them,
2 yes.

3 Q. We couldn't either

4 A. The reason I hesitated with Dr. Mars, I could not
5 read that either.

6 Q. Okay. Have you reviewed the radiology films in
7 this case?

8 A. Yes.

9 Q. When did you last review those?

10 A. Oh, I think more than six months ago.

11 Q. So you haven't reviewed them recently?

12 A. No. Not in the last few days or weeks, no.

13 Q. Have you taken any notes in this case, made any
14 independent notes other than what is in the
15 medical chart, just notes that are outside of
16 this chart?

17 A. No.

18 Q. Okay. And have you reviewed any summaries
19 outside of the medical record; in other words,
20 like medical summaries involving this case?

21 A. No.

22 Q. Do you have an independent recollection of Linda
23 Cimino outside of the medical record?

24 A. Yes. Yes, I do.

25 Q. And you understand what I mean by that question,

1 that outside of this record, whatever has been
2 reduced to writing in this record, whether you
3 have independent memory of either, you know,
4 events that you had with her or spoke to her,
5 those type of things?

6 A. Yes. I can't say that I have independent
7 recollection of all events and all things, but I
8 do remember.

9 Q. Can you share with me what you do recall?

10 A. I remember her presentation with the suffering, I
11 remember her demeanor, I remember her complaints
12 of pain afterwards. I mean, I just remember
13 little snips, that sort of thing.

14 Q. With regard to any specific discussions that you
15 may have had with her, do you recall any of
16 those?

17 A. With independent recollection I would have to say
18 no. I mean, I recall them when I see the chart
19 and I see the notes, but --

20 Q. But outside of that you don't recall any
21 specifics of any conversation whether, you know,
22 in substance or generally what you may have
23 spoken about? I understand that you may not
24 recall verbatim what you said to her or what she
25 said to you.

1 A. I can't picture the scenes in my head, if that is
2 what you mean.

3 Q. You indicated that you recalled I think her
4 presentation and I didn't catch that last part.
5 It was the first thing that you said you
6 recalled, presentation of her as a patient prior
7 to the surgery or her presentation with pain. I
8 can't remember what you said.

9 A. Yes, with the pain, with the headaches.

10 Q. All right. Was this before the surgery?

11 A. Yes.

12 Q. What do you recall about that?

13 A. I just recall her talking about her headaches, I
14 recall discussing the pseudotumor and I just
15 recall her appearance basically, the rudiments.

16 Q. What do you recall about her demeanor?

17 A. Because pseudotumor is often associated with
18 weight, I recall that because I particularly
19 attended to that, and her dark hair. I just
20 remember her.

21 Q. Now, you indicated you had some recollection of
22 her complaints of pain. Was that postoperatively
23 or again preoperatively? You gave me three
24 things, that's why.

25 A. I do recall her complaining of right leg pain.

1 Q. And that was after the surgery?

2 A. Yes.

3 Q. Do you recall the nature of the leg pain or what
4 complaints were made specifically?

5 A. You mean by independent recall?

6 a. Independent recall.

7 A. No.

8 Q. I guess just as a brief overview, what do you
9 believe or what is your understanding of, you
10 know, the facts in this case from your initial
11 visit with Linda Cimino all the way until you
12 finished treating with her or she finished
13 treating with you?

14 A. Do you want me to give a review of everything?

15 Q. Just a brief overview of what is your
16 understanding of what happened.

17 A. That she had a course from 1992 of severe
18 headaches -- well, even longer with severe
19 headaches, since I believe her teens and early
20 years of severe headaches. Since 1992 she had
21 progressive problems with progressive headaches,
22 but also more importantly blackout spells with
23 loss of vision totally, sometimes for thirty
24 seconds and sometimes for longer. She had a long
25 period of conservative treatments. I believe

1 most of them were through Dr. Kosmorsky here in
2 the clinic, but I'm not sure entirely.

3 She had a previous -- besides medical
4 treatments and medications and so forth, she had
5 a surgical procedure I believe in '92 to try and
6 help her vision in one eye, I believe the right
7 eye. In spite of this headache -- I think it
8 stabilized for a short period of time and then
9 had a progressive course with worsening
10 ophthalmia, swelling of the eyes, pressure. She
11 had periods of visual loss when moving and with
12 position, that she had narrowing of her visual
13 fields, that she was not seeing as well and her
14 vision was -- visual. field was decreasing. She
15 complained of very, very severe headaches.

16 My feeling of her course before was
17 that she had quite a bit of conservative
18 treatment and it was progressing severely at the
19 time I saw her. When I saw her she had I believe
20 already had some taps -- I'm sure she had some
21 taps that showed increased pressure. I believe
22 after I saw her even the first time I did another
23 tap, which also verified high pressures. So I
24 felt --

25 Q. I'm sorry. When did you see her for the first

1 time?

2 A. I would have to look to see.

3 Q. You can look at the chart.

4 MR. MURPHY: Do you want the
5 outpatient notes?

6 A. Yes. It would be shortly -- probably shortly
7 before the procedure. Let me make sure this is
8 the first. Yes, I believe October 25th, 1996.

9 Q. Between that time, between October 25th, 1996
10 through to the day before the surgery in this
11 case, which I think was November 21, '96; is that
12 right?

13 A. November 21, yes.

14 Q. You found through the history you obtained, your
15 clinical exam and so on that she was a candidate
16 for a shunt placement?

17 A. Yes.

18 Q. When did you meet with Linda Cimino to advise her
19 of your recommendation that she was a candidate
20 for shunt placement?

21 A. There are two meetings between October 25th and
22 surgery. One is November 5th and the other is
23 November 19th. The later date would be the date
24 for the actual discussion of the surgery, the
25 previous date was where we discussed results of a

1 lumbar puncture.

2 Q. On 11/19 what options did you give her for
3 treatment; do you remember?

4 A. I don't specifically recall the consent. During
5 the course of the pre-op I make sure that we
6 discuss all medical treatments and all
7 conservative measures. She had already had, as I
8 said, surgery to help try and preserve vision in
9 her eye. So in terms of other options we would
10 have covered, she has already by experience
11 covered the other more conservative measures
12 besides shunting.

13 Q. All right. Was there any other course of
14 treatment here for Linda other than shunt
15 placement?

16 A. I believe that lumboperitoneal shunt placement is
17 an appropriate next step in the surgical
18 treatment of a pseudotumor. Are there any
19 possible theoreticals, are you asking me, or just
20 a reasonable --

21 Q. Reasonable practical alternatives.

22 A. A reasonable alternative --

23 Q. Shunt placement?

24 A. Shunt placement would be my choice, yes.

25 Q. Okay. My question is a little different though.

1 Any other types of shunt placement procedures
2 that can be offered?

3 A. There are other shunting procedures, but I don't
4 feel that they -- I feel they carry greater risk
5 as opposed to lesser risk.

6 Q. All right. What shunt procedures do you perform?

7 A. Lumboperitoneal shunt.

8 Q. What are the other shunt procedures that can be
9 performed in order to address the same problem,
10 if you will, with a pseudotumor?

11 A. There are other shunting procedures. I think
12 they are much less commonly used to treat this
13 problem. There is ventriculoperitoneal shunting
14 which puts a catheter through the brain and into
15 the ventricle. We often use that and I often use
16 that for treatment of hydrocephalus where there
17 is fluid pressure but enlarged ventricles. It
18 involves insertion of a catheter, as I said,
19 through the brain and has more risks for brain
20 complication, obviously. The lumboperitoneal
21 shunt.

22 Q. Any other shunt procedure here that would be
23 suitable to address the same problem?

24 A. None that I know of.

25 Q. Okay. So really those are the only two

1 procedures that one could possibly do?

2 A. Well, I would say that the lumboperitoneal shunt
3 is far more appropriate and is more -- it is done
4 much more routinely than a ventriculoperitoneal
5 shunt in this situation. But theoretically a
6 ventriculoperitoneal shunt can be used and
7 occasionally I assume has been done.

8 Q. But you've never done it that way?

9 A. No, I don't believe I ever have. I do a lot of
10 ventriculoperitoneal shunting for other reasons,
11 but not for a pseudotumor. The ventricles are
12 actually quite small so the target of the
13 catheter is small, and not only does it have
14 greater risk going in, but the patency to keep it
15 open and keep it running properly is more
16 difficult as well because the ventricles are not
17 large, they're small.

18 Q. Did you discuss with Linda the risks and benefits
19 of the lumboperitoneal shunt procedure?

20 A. Yes, I always discuss the risks. I place
21 catheters frequently, both operatively and on the
22 floor, and we discussed the risks of catheter
23 placement and of, you know, abdominal risks and
24 risks associated with infection and so forth.
25 Yes, I went over them.

1
2
3
4
5 Cimino? What risks do you advise them of?

6 A. Well, first we talk about the risks of
7 non-treatment, progression of problems, visual,
8 headaches and so forth, and of the increased
9 pressure. We obviously discussed documented
10 increased pressure.

11 We also then talk about the risks of
12 surgery which include general risks of
13 anesthesia. Although the full anesthesia risks
14 are also discussed in more detail by anesthesia
15 themselves, but I also mention that there is a
16 risk of surgery and of anesthesia itself.

17 I mention the risk of infection of the
18 catheter and also mention the risk of placing a
19 catheter in the spinal canal in terms of causing
20 a neural injury or irritation or injury.

21 Also I would mention the risk of the
22 abdominal -- with an anterior incision that there
23 can be, although it's very unlikely and has not
24 happened, but there could be abdominal or GI
25 injury secondary to the anterior or the distal

1 portion of the catheter.

2 I also mention that lumboperitoneal
3 shunting is problematic and that it doesn't
4 always work and that sometimes there can be too
5 much drainage, sometimes there can be too little
6 drainage and they can get blocked. I always
7 mention that with either types of shunting that
8 tubing, it's just plumbing. Tubing can get
9 blocked, it can get infected and it doesn't
10 always work. And I also mention that sometimes
11 it has to be removed.

12 Q. I've just sort of made a list here and I've
13 written down the five areas of risks that you
14 indicated. The anesthesia, infection, that there
15 can be a neurologic injury, there can be a
16 problem with abdominal incision, and then also
17 that it may not work and require removal.

18 A. Did you list that it may drain too much or too
19 little?

20 Q. Right, it may not work meaning that just for all
21 those reasons, whatever the reasons, whether it
22 becomes, you know, blocked or just doesn't work
23 or there is some problem with the shunt that it
24 has to be removed.

25 Now, you would agree with me that the

1 standard of care requires that the physician talk
2 about all those risks and at least advise the
3 patient of all those risks before undergoing
4 surgery in order to obtain an informed consent?

5 A. I agree that there should be a full discussion of
6 risks, of all risks typically or possibly
7 encountered, all risks that reasonably occur. I
8 mean, not every .550 percent risk but, yes, all
9 the ones that are of concern to me as I go in
10 that can happen and that we see happen.

11 Q. And at least the five risks that we've discussed
12 here are ones that you would expect a physician
13 to advise the patient of?

14 A. Yes.

15 Q. Okay. And I guess what I'm trying to establish
16 here is to see if not only is it your practice to
17 tell the patient that, okay, but the standard of
18 care requires you to tell the patient about those
19 risks, these five risks?

20 A. Yes. I'm unaware of a written standard or
21 anything because I know that is what my practice
22 is. But I would believe any physician's practice
23 would be to go over the risks, yes.

24 Q. But I guess I want to make sure we're
25 communicating, because I'm trying to figure out

1 whether or not the standard of care or a
2 reasonably prudent physician requires those risks
3 to be covered with that patient.

4 A. I'm uncertain what you mean by standard of care
5 where that is defined. I know that my standard
6 would be to tell the patient the risks and I
7 assume that most prudent physicians tell and so
8 note that they've gone over risks. I'm not sure
9 what reference to standard of care that you're
10 making, but I know it would be my standard to
11 tell the patient about all likely risks.

12 Q. And that is a good point. What do you believe --
13 When one talks about a medical standard of care,
14 what do you believe it to be? I mean, how is
15 that established?

16 A. Well, I'm not sure. Definitions may vary. I'm
17 not sure what a legal definition is. My
18 definition would be something which is
19 well-established in terms of good, safe medical
20 practice that has been, for example, shown to
21 work in patient population, something that is
22 trained to our residents, something that we were
23 trained to do that is shown to be effective.

24 I'm not quite sure of your question.
25 I mean, I know of no written standards of care

1 for this or for a standard of care of what should
2 be listed for a pseudotumor or lumboperitoneal
3 shunting. I don't know of any "standard of
4 care". I know that review of the literature and
5 knowing the disease process is appropriate and
6 explaining the risks and treatments is
7 appropriate and should be a standard.

8 Q. And the reason why you advise the patient of
9 these five risks, for instance, prior to
10 obtaining an informed consent for a
11 lumboperitoneal shunt procedure is that those are
12 at least risks you believe that are important to
13 discuss?

14 A. Yes.

15 Q. In other words, those risks are material enough
16 to you as a physician that the patient needs to
17 know about those before consenting to the
18 procedure?

19 A. I would think so, yes.

20 Q. Because if these risks aren't discussed with the
21 patient, these five risks that we're talking
22 about, then you would not have obtained the
23 informed consent of the patient?

24 A. Would you read those five again?

25 Q. The five being again --

A. Infection.

Q. -- the anesthesia risks, the infection risk, the risk of neurologic injury, the complications with the abdomen, and then the risk that the shunt either may fail or not work or for whatever reasons may be required to be removed.

MR. MURPHY: Initially he had talked about risk of non-treatment too when he started out there.

MR. TSAROUHAS: But we're talking about risks of the surgery.

MR. MURPHY: What can happen from the surgery.

MR. TSAROUHAS: I have non-treatment.

A. Again, with the qualification of the anesthetic risks, that would be something that I would hope would be gone into in more detail and discussed with the anesthesia people, the general surgery risk.

Q. Okay. With that qualification, a physician in order to obtain an informed consent would have to discuss these five risks with the patient?

A. They would have to be mentioned, yes.

Q. Well, not only mentioned, but also explained with the understanding --

1 A. They would have to be understood, made clear that
2 it was communicated and understood.

3 Q. With the understanding that the anesthesia risks
4 will be gone into more detail with the
5 anesthesiologist?

6 A. Sure.

7 Q. And I don't want to belabor this point, but I
8 think you would agree that failure for a
9 physician as a neurosurgeon, if that neurosurgeon
10 has failure to discuss those five risks with the
11 patient before obtaining a consent for the
12 surgery would be below acceptable medical care?

13 A. I think all risks -- I think these risks should
14 be mentioned.

15 Q. And if they're not mentioned, that is below
16 acceptable medical standard, that would not be
17 appropriate?

18 A. The reason I hesitate is -- Well, for example,
19 the abdominal portion happens so infrequently.
20 As I mentioned initially, an injury in the
21 anterior portion is a very infrequent occurrence.
22 It also can be quite benign. In the context of
23 severe disease and so forth I don't believe that
24 necessarily that is a critical factor.

25 Now, I think that it is a possible

1 complication and I do mention abdominal wall
2 issues, but I don't think that that would "be
3 below a standard of care" not to mention it.
4 These are things I feel, you know, should be
5 mentioned; however, if another surgeon does not
6 mention anesthetic risk or does not mention
7 abdominal wall problems or anterior problems in
8 the context of someone who is having visual loss,
9 because it is an infrequent occurrence and it is
10 such a decreased severity related to the problem
11 that we're dealing with, I wouldn't call it below
12 or substandard of care, mainly because this
13 procedure is indicated for a very severe ongoing
14 problem like visual loss.

15 So I would hesitate to say that is
16 below a standard of care because I still feel
17 that the neurosurgeon should recommend a
18 lumboperitoneal shunt procedure. And, again,
19 because of the frequency and because of the lack
20 of severity of that sort of problem in light of
21 visual loss, I wouldn't call it a substandard of
22 care if he did not mention that.

23 It is like if a patient is dying of
24 brain tumor not mentioning every single detail
25 that (a), is infrequent and, (b), is not of

1 severity relative to the disease, I would not
2 call it substandard care. I still feel that
3 those are some likely -- based on the surgery,
4 some likely complications and should be covered,
5 but I wouldn't say that it was below the standard
6 of care if some of that was neglected.

7 Q. Let's take them one by one then. If the
8 physician, the physician we're talking about is
9 hypothetically a neurosurgeon treating a patient
10 such as Linda Cimino about ready to undergo a
11 lumboperitoneal shunt placement, that if a
12 physician failed to mention anything about
13 anesthesia risks that would be below the standard
14 of care?

15 A. I think it should probably be mentioned, but
16 again, that is covered by anesthesia, so I guess
17 overall I would say no, that is not below
18 standard of care. I would say not, because it is
19 covered.

20 Q. I understand. How about if the physician failed
21 to mention infection, would that be below the
22 standard of care?

23 A. Again, you're talking about standard of care and
24 you're saying my opinion of what should be said?

25 Q. Right.

1 A. And I think an infection of shunt should be
2 mentioned, yes.

3 Q. So the failure to do that would be unacceptable?

4 A. It would be below my standard.

5 Q. And I expect that the standard of care you render
6 is that of other reasonable physicians, isn't it?

7 A. I don't know.

8 Q. You would expect it to be?

9 A. I would expect that a neurosurgeon should tell a
10 patient about possible infection, yes.

11 Q. And the failure for that surgeon to likewise
12 mention neurologic injury --

13 A. I think it should be mentioned, yes.

14 Q. And if it is not mentioned, that would be again
15 below the standard of care?

16 A. Again, it would be below what I would expect
17 another neurosurgeon to say and it would be below
18 what I would want to say myself.

19 Q. Just so I'm clear that you're practicing under
20 that hypothetical, by mentioning that you would
21 be practicing the standard of care treatment?

22 A. Again, I don't know what standard of care is, but
23 I would expect that to be mentioned.

24 Q. I guess I have a little problem with your saying
25 that you don't know what the standard of care is.

1 I mean, if you don't know what it is to obtain an
2 informed consent prior to lumboperitoneal -- if
3 you're telling me you don't know what the
4 standard of care is of a reasonably prudent
5 physician in obtaining an informed consent prior
6 to lumboperitoneal shunt placement, that is okay,
7 but I want to make sure that is what you mean.

8 MR. MURPHY: Let me object because I
9 don't think you're necessarily communicating. I
10 think the Record reflected earlier he's not aware
11 of any written "standard of care" that outlines
12 what has to be told to a patient, but then he
13 said what we tell patients is based on what we
14 learn in our training, in the literature and so
15 forth, that we know what the risks are of this
16 and we explain that with patients. So I think
17 he's saying what a reasonable physician would do.
18 I think he considers himself a reasonable
19 physician.

20 So he's saying he doesn't know of a
21 written standard of care any place, but he knows
22 what should be done and I think that equates with
23 standard of care.

24 A. I'm not clear on what your definition of what
25 standard is, so if I say standard, I'm not sure

1 I'm communicating the proper thing. I can tell
2 you what I feel is the right thing to do and
3 another neurosurgeon what I feel the right thing
4 for him to do is. If I feel that, I guess it's
5 standard.

6 Q. I appreciate that explanation and I suppose we
7 can just lay that issue to rest. From the
8 standard of care definition, we're working from
9 your definition, not mine.

10 A. What I would reasonably think?

11 Q. Right, reasonably think the standard of care is
12 and appropriate for a reasonable physician.

13 MR. MURPHY: That is the way a Court
14 would define it too, what a reasonable physician
15 would do under the same or similar circumstances.

16 Q. The reason I wanted to clarify that, I just
17 wanted to make sure we were communicating again.
18 I appreciate that.

19 And, again, based upon the definition
20 that we've established or you've established as
21 being the standard of care, if a physician failed
22 to advise the patient of neurologic -- potential
23 neurologic complications or injury, that would be
24 below the standard of care?

25 A. Yes.

1 Q. And that is an opinion with reasonable medical
2 probability? Do you understand what that means?

3 A. Yes.

4 Q. And you would agree with that?

5 A. Yes.

6 Q. We've already discussed about the abdominal
7 incision complications, right?

8 A. Yes.

9 Q. But you're not --

10 A. That is a lower frequency and lower severity that
11 I wouldn't -- I would think that it would be a
12 good thing to discuss, but I don't think below
13 the standard of care.

14 Q. Right. And then with regard to the shunt just
15 not working or something coming up which would
16 require it to be removed, failure to tell the
17 patient again would be below the standard of
18 care?

19 A. I think it should be said that it may not work,
20 yes.

21 Q. And failure to do so would be below the standard
22 of care?

23 A. As defined, yes.

24 Q. And that is again an opinion with reasonable
25 medical probability?

1 A. Yes.

2 Q. When I ask that, you understand that we have
3 evidentiary burdens of proof with regard to
4 medical opinions and if your medical opinion is
5 not more likely than not the case, then it is not
6 admissible. I'm not sure if you're aware of
7 that.

8 A. I'm not sure, but I think that given that these
9 shunts do have a probability of not working or
10 they cannot work, that it is within a reasonable
11 medical practice to make sure that that is
12 brought up, yes.

13 Q. Now, the surgery we've established I think was
14 performed on November 21st, '96, right?

15 A. November 21st, yes.

16 Q. Now, is it fair to say that you probably did not
17 attend the entire procedure?

18 A. If you include the skin closure and probably
19 maybe the skin incision, although I think I was
20 there -- I think I was there based on my usual
21 practice to be there for the skin incision, but
22 very likely for the skin closure I was not there,
23 yes, during the skin closure.

24 Q. Now, in this type of procedure what is your
25 standard practice in preparing an operative note?

1 To clarify that, what is the timing in which you
2 prepare it, how long after the operation do you
3 usually prepare an operative note?

4 A. It depends. Often I will accumulate anywhere
5 from two to five to ten and do them at one time.
6 If it is something which I feel was out of the
7 ordinary or unusual or not a standard practice, I
8 will usually do it within one to two days
9 maximum. Otherwise I often will collect maybe
10 five to -- hopefully no more than five or so and
11 then do them at once. And that may be several
12 days afterwards, it may be a week afterwards even
13 if it's a routine.

14 Q. Now, in this case at least the operative note
15 that we've been provided was dictated I believe
16 in April of 1998. Do you recall doing that?

17 A. I don't recall the -- I don't recall doing the
18 dictation. I mean, I recall seeing the date in
19 the chart, but I don't recall the actual -- that
20 it was that date that I did it.

21 Q. Let me just refer you to my record. It is bate
22 stamped 217, just so we can move this along a
23 little bit.

24 A. Here is the date.

25 Q. Okay. Was that dictated by you; do you know?

1 A. Yes. Well, it says dictated by Mark Luciano.

2 Q. And that was dictated on April 24th?

3 A. It says April 24th, 1998.

4 Q. All right. Now, that is not your standard
5 practice to dictate an operative note that long
6 after the surgery?

7 A. No, it's not the usual practice. Whenever I'm
8 asked to dictate a note which cannot be found and
9 is an incomplete chart, it can be later than
10 that. It can be months or later. It's unusual
11 though. Most of them are done within a few days
12 or weeks. But whenever I'm asked based on
13 finding of an incomplete chart, I will dictate
14 things even that are longer past.

15 Q. Do you know who advised you that there was an
16 incomplete chart?

17 A. No, I don't recall.

18 Q. Do you know how is it that you were either
19 notified either in writing or by, you know,
20 telephone, verbally, whatever it is that there
21 was a need of an operative note for this case?

22 A. No, I don't. And that is because there are a lot
23 of medical records and a lot of dictations of
24 letters and a lot of signatures and so forth that
25 I routinely go through on a pretty much daily

1 basis. I don't particularly recall the request
2 for that dictation.

3 Q. This is not something that you probably would
4 have recognized on your own in April of 1998 that
5 there wasn't an operative note?

6 A. No.

7 Q. Who would typically bring that to your attention?

8 A. I assume medical records. My secretary as things
9 come through, but through medical records.

10 Q. Is that done through a phone call or is there
11 some e-mail or note sent over?

12 A. I don't know. It is on my desk with a notation
13 for dictation. And, you know, this was back in
14 '98, and I'm also not sure what the system was
15 exactly at that point.

16 Q. I understand.

17 A. But it would be on my desk with a red tab saying
18 there is an incomplete op note here to dictate.

19 Q. Now, your dictation of this note, at least the
20 operative note as of April 24th, '98, that was
21 not done from an independent recollection of the
22 procedure; is that fair to say?

23 A. I think it is fair to say that it was done in a
24 way that -- from the way I do the procedure and
25 after reviewing the medical record. In other

1 words, it's not just from, oh, this is how I do
2 the procedure and I just rattled it off.

3 What I do is I look at the chart and I
4 see at the time of the procedure any other
5 notations and remind myself of the situation, of
6 the clinical situation to help remind myself of
7 any problems at the time of surgery, anything
8 that might say, oh, yes, you know, it was this
9 issue. And I didn't have any recollection of any
10 alterations from my usual procedure.

11 Q. And I guess it is only after you reviewed your
12 chart you were able to put together this
13 operative note?

14 A. When I go to the date of the surgery and look at
15 this insertion of LP shunt, and basically then I
16 also will often look at the presentation to
17 remind myself who the patient is and how they
18 presented and what we did.

19 Q. So just so I'm clear on this, when you dictated
20 this operative note, okay, the one of April of
21 '98, you were able to create this note by not
22 only reference to the chart, reviewing the chart,
23 in combination with what your standard approach
24 would be, your technique, whatever it is during a
25 procedure, putting those two together --

1 A. Yes.

2 Q. -- creating this document?

3 A. Yes.

4 Q. Now, it would be fair to say likewise that -- and
5 forgive me for forgetting, but you told me your
6 standard practice is to dictate an operative note
7 within -- Is it several days or four or five
8 days, did you say?

9 A. If there is something unusual often within a day
10 or two, and otherwise I might collect them for a
11 few days and then dictate them at one time, like
12 five of them or so.

13 Q. It would be fair to say then the circumstances
14 when you were dictating a note four to five days
15 after the procedure or whatever that time frame
16 might be --

17 A. There is one other thing I might mention is that
18 initially when I came to the clinic -- I'm not
19 sure when the transition period was, but the
20 residents were dictating notes. I started
21 dictating my own notes before. Right now it's a
22 requirement that the staff dictates all notes.
23 It may not have been back then. I'm not actually
24 sure when the shift was. But in the first years
25 of me being here, I think maybe up to '96, the

1 residents were dictating most notes.

2 So just to clarify the standard
3 practice, currently that is what I do in terms of
4 my own dictations. And when I do any dictations
5 that is the way I've done them. I got here in
6 '93 and for the first several years the residents
7 as part of their educational experience dictated
8 the note.

9 Q. Dr. Markarian was the resident in this case?

10 A. Yes, he was.

11 Q. So if that was still the procedure then at the
12 Cleveland Clinic that the resident dictated notes
13 then, I guess in this case he failed to dictate a
14 note in this case?

15 A. That is a possibility. Although I do say that I
16 dictated more of my operative notes before it was
17 a requirement, but farther back the residents
18 were dictating operative notes.

19 Q. But either way, it was either you or Dr.
20 Markarian who failed to dictate a note?

21 A. I don't know that we failed. I know that it
22 didn't appear.

23 Q. Okay.

24 A. I have no independent recollection of failing to
25 dictate a note. I do know that there are

1 situations which I know I've dictated the
2 operative notes and that they've not appeared
3 through the system, perhaps even through an error
4 in the numbers or something. So although it may
5 not be frequent, I do know that op notes are lost
6 and I've had to dictate op notes throughout the
7 year several times. So I can't say that the op
8 note was not dictated by Dr. Markarian or myself,
9 but I can say that it did not appear.

10 Q. Okay. Those would be the only explanations here,
11 that it either was lost in the system -- it was
12 dictated and lost, or on the other hand it just
13 wasn't dictated at all? That would be the only
14 two --

15 A. That is the only two I can think of offhand.

16 Q. Eut you're not sure which one of the two it may
17 have been?

18 A. That's right.

19 Q. All right. Now, when you dictate a note, in your
20 standard practice, whether it's several days
21 after the surgery, four or five days or a week,
22 whatever the case may be that you've accumulated
23 enough, if you will, operations in order to, you
24 know, have the opportunity to sit down and
25 dictate on each of those various operations, you

1 would agree that under those circumstances you
2 typically have the chart, of course, what your
3 standard practice is, but also an independent
4 recollection of the procedure itself when it is
5 done in that time frame?

6 A. When it is done within days, I would say I have
7 independent recollection, yes.

8 Q. And certainly that independent recollection also
9 is a part of creating the operative report --

10 A. Yes.

11 Q. -- in those circumstances?

12 A. Yes.

13 Q. So the logical conclusion would be then that a
14 note dictated soon after surgery, which is
15 typically your standard practice, however many
16 days that might be, is probably a little more
17 accurate, if you will, or more accurately
18 reflects the procedure and how it went as opposed
19 to one which is dictated a year, year and a half
20 down the road?

21 A. With a procedure done in a standard way there may
22 be no or very little variation between the two,
23 but on a theoretical basis, obviously one a few
24 days afterwards as opposed to one done over a
25 year has a potential of being more accurate, yes.

1 Q. Now, I just want to come back to the question
2 that I asked you about whether you were present
3 for the procedure, what portions you were present
4 for. I'm referring to what is date stamped on my
5 chart number 0002, and that is a procedure
6 attestation form?

7 A. Uh-huh.

8 Q. You've checked on here that it says bill. How
9 does that work? What does that mean when it says
10 bill: right there (indicating)?

11 A. It's just because there is an option here do not
12 bill.

13 Q. Okay. So there was a choice made to bill on the
14 surgery, of course. You can't be doing this for
15 nothing, right? Now, you checked off actually
16 number 3 here that you were present during a
17 critical portion of the above procedure and I or
18 another teaching physician was immediately
19 available for the entire procedure?

20 A. Uh-huh.

21 Q. Okay. And it says the critical portion is as
22 follows, it's shunt placement?

23 A. Shunt placement.

24 Q. Is that your signature?

25 A. Yes, it is.

1 Q. And I see your name is printed next to it. Now,
2 is there any other record, without obviously
3 violating the patient privilege, to indicate how
4 long you were in this procedure or whether you
5 were attending a different procedure at some
6 other point in time, either in the initial stages
7 of the procedure or after the shunt placement had
8 been put in?

9 A. Not to my knowledge.

10 Q. Okay. There is nothing in either the requisition
11 forms or as a result of the Cleveland Clinic's
12 billing practices which would reflect what times
13 during the day that you were billing for or what
14 times during the day you were involved in
15 operations?

16 A. The times of surgeries are listed. I'm not sure
17 what you're asking.

18 Q. Right. Well, the time of this surgery is listed
19 here, when it commenced and when it ceased. But
20 is there anywhere that would reflect maybe in the
21 last fifteen or twenty minutes of the surgery
22 where you were involved in a critical stage of it
23 that you had left perhaps to attend something
24 else or someone else while the resident may have
25 wrapped it up, so to speak?

1 A. Not to my knowledge.

2 Q. But I suppose as you sit here today you do not
3 have an independent recollection, that is a
4 memory of your being in the operating room and
5 conducting the surgery because it's been so long?

6 A. That's true.

7 Q. After the surgery and I take it based upon your
8 review of this chart, what was Ms. Cimino's
9 presentation at that time postoperatively?

10 A. Based on the chart, she had a postoperative pain,
11 and at least in the postoperative day had
12 complaints of right leg pain.

13 MR. MURPHY: You can certainly look at
14 the chart if you want to.

15 A. Okay. Thank you. Let me look at --

16 Q. You can refer to the chart at any point in time.

17 A. Thank you. On November 22nd is the post-op note
18 which says -- it says power actually 5/5, which
19 refers to full strength, it has the vitals here,
20 36-9. These parameters are stable. Complaint of
21 a constant frontal cephalgia, frontal headache,
22 back pain, it says stiff neck, nausea. I can't
23 read this lower word. Oh, photophobia. Okay.
24 It says she's oriented, pupils responsive,
25 extraocular muscles intact. It says here neck is

1 supple. That's a direct observation. As I said
2 before, power 5/5.

3 Q. What is the power of 5/5? What is that referring
4 to?

5 A. There is a rating of 5 being the normal strength.

6 Q. I don't mean to cut you off, but just the power
7 of what?

8 A. Muscle strength.

9 Q. Of all extremities?

10 A. It's not independently listed here. Without
11 independent listing, I would have to assume it
12 would be all, but that would be an assumption.
13 It says here power or strength 5/5, so that is a
14 rating of strength and obviously it doesn't
15 specifically say. I don't see -- There was a
16 notation of a complaint of right leg pain and I
17 don't actually see it in this post-op day note.

18 Q. Actually before we move on, can you help me go
19 through the last part of that notation, progress
20 note on 11/22?

21 A. Postoperative day one, status post
22 lumboperitoneal shunt.

23 Q. This is not your note, I take it, right?

24 A. No.

25 Q. Do you know who that was done by?

1 A. No, I do not. It looks like that is not Dr.
2 Markarian, but I do not know.

3 Q. Would it be another resident that was covering?

4 A. Yes, it would be another resident, another
5 physician.

6 Q. And if you can't make it out, that is fine.

7 A. I can't make that out, to be honest with you.

8 Q. Anything else there, the remaining part of it?

9 A. No. Either it says flexor or power. I can't
10 say.

11 Q. How about the HTN 2?

12 A. Hypertension with something. I'm not sure what
13 that says either.

14 Q. Okay.

15 A. I referred that I felt there was a complaint of
16 right leg pain and I saw that in the chart
17 somewhere, but actually it's not --

18 MR. MURPHY: Recovery room.

19 A. It was in the recovery room that she had some
20 pain, but not in post-op day one.

21 MR. MURPHY: This is why I tab them.

22 A. It says recovery room at 4:00 that day complained
23 of right lower extremity pain.

24 Q. Actually, which part are you -- Okay, we're on
25 the same thing. Mine is bated stamped page 0022.

1 A. And that is in terms of what I reviewed she noted
2 she had right leg pain, but it is not noted on
3 the post-op day one.

4 Q. Now, it indicates -- and this is still in the
5 PACU, right?

6 A. Uh-huh.

7 Q. At 1500 on that same day, that would be the same
8 day as the operation --

9 A. Yes, I guess 1:40, the day of operation, both
10 legs and feet move -- well, it doesn't say move,
11 but to command with good strength, denies
12 numbness, tingling or pain in lower extremities.
13 That is at 1:40.

14 At 3:00 agree with above, gave some
15 medication for complaint of pain. Patient states
16 pain relief obtained. Nausea. Droperidol was
17 given for nausea. And then at 4:00 is the
18 notation of right leg pain.

19 Q. When was Ms. Cimino discharged from the clinic
20 after that operation?

21 A. She was discharged on the next day, November
22 22nd.

23 Q. Is it fair to say that you didn't get a chance to
24 see Ms. Cimino after the surgery was completed?

25 A. I don't recall specifically a post-op visit, but

1 I can tell you my routine is to see the patient
2 either that night post-op or the morning.

3 Q. Based on the record though, is there anything to
4 reflect that you were able to do that?

5 A. Based on the record, I don't believe there is,
6 no.

7 Q. What clinical presentation was brought to your
8 attention after the discharge from the hospital?
9 Actually I shouldn't say clinical presentation,
10 but what symptoms, if any, were brought to your
11 attention after the hospitalization?

12 A. Let me turn to the outpatient section.

13 Q. Actually let me step back. Let me finish one
14 thing before we move on. I'm going to refer you
15 to page -- actually 0044 of my record.

16 A. Okay.

17 Q. Who authorized the discharge of Ms. Cimino?

18 A. You're referring me to this page?

19 Q. Right. Can you tell?

20 A. I don't know. What is this page? Is it a nurses
21 note.

22 Q. I believe it is nurses notes reflecting that at
23 1330 on November 22nd there is a discharge to
24 home and family.

25 A. Uh-huh.

1 Q. Now, working backwards from this, do you know who
2 authorized the discharge?

3 A. I cannot base the authorization on this note at
4 all.

5 Q. Is there another note that we can --

6 A. No, but I would authorize the discharge of my
7 patients through one of my residents, whether
8 George Markarian or another one, saying that
9 patient may leave. But I don't do that based on
10 this note.

11 Q. All right. I understand. I'm just referring to
12 it. What condition would you expect the patient
13 to be in for a discharge order to be given?

14 A. Being one postoperative day there can still be
15 significant pain, but I would expect her to be
16 stable with respect to vitals, some pain which is
17 controllable with medications, and no sign of
18 wound problems or drainage and so forth.

19 Q. Obviously you indicated there is some pain
20 postoperatively or post-op day one, which that
21 wouldn't concern you. If there was severe pain
22 or it was brought to your attention that there
23 was severe pain of the back and right thigh pain,
24 would that make a difference in your decision to
25 discharge a patient?

1 A. If there was a progressive severe pain, if there
2 was loss of function or weakness that was
3 progressive then, yes, it would. But pain itself
4 probably not in this acute period.

5 Q. What is it about the severe back or right thigh
6 pain which would cause you some concern if it was
7 brought to your attention?

8 A. Postoperatively I can expect sometimes moderate,
9 sometimes quite severe back pain. So that,
10 although of concern in terms of the patient, is
11 not unexpected. Certainly if there was
12 progressive weakness or neurological deficits or,
13 as I mentioned, instability of the blood pressure
14 or other factors such as that, certainly if there
15 was drainage of the incision and problems with
16 the incision or infection, those would be a
17 concern.

18 Q. Hypothetically if there was in addition to severe
19 back and right thigh pain, if there was also --
20 this is post-op day one -- a numbness or burning
21 into both extremities, lower extremities up to
22 the knees, would that cause you any concern?

23 A. I'm not aware of that occurring. Are you asking
24 me a theoretical question?

25 Q. Hypothetical question.

1 A. Hypothetically a patient who we put a
2 lumboperitoneal shunt in who has progressive --

3 Q. Pain and also a burning and/or numbness in the
4 thighs going right to the knee.

5 A. If you said someone had progressive loss of
6 sensation, yes, that would be of concern.

7 Q. Why would that be of concern?

8 A. Because a progressive neurological deficit might
9 imply in a postoperative period a hematoma or
10 some acute problem which may require attention.

11 Q. The hematoma being that it is causing -- in other
12 words, it would cause you some concern, and tell
13 me if I'm right or not, because there may be some
14 neurologic component, the etiology of which is
15 unknown?

16 A. Yes, that's right.

17 Q. So then if that is brought to your attention you
18 automatically register potential neurologic
19 problem, etiology may be one, from the surgery
20 itself?

21 A. Possible, yes.

22 Q. The shunt may be impinging upon the nerves near
23 the spinal cord?

24 A. It's possible, yes.

25 Q. A hematoma may have developed?

1 A. Possible.

2 Q. And perhaps any other reason which may explain a
3 potential neurologic problem?

4 A. Yes.

5 Q. I want to keep adding to the symptoms. In
6 addition to the severe back pain, severe right
7 thigh pain, a burning or a numbness sensation in
8 both extremities up to the knees and a severe
9 pain in the groin area. With that additional
10 symptom, a severe pain in the groin area, would
11 that mean anything to you?

12 A. In the immediate post-op period there can be
13 severe back pain, so it is hard to tell whether a
14 person would be having extension of pain and
15 whether it's truly a neurological origin. If I
16 felt that a person was having progressive
17 symptoms due to neural effects, it would be of
18 concern to me. If I felt it was a wound problem
19 or a severe back pain which was radiating, that's
20 another issue.

21 Q. What symptoms would you be concerned about which
22 may be related to some neuro effect? What
23 symptoms would you expect to see?

24 A. Radicular pain, by that I mean pain which shoots
25 down the leg, progressive numbness or weakness.

1 Q. And this is something that when you say
2 progressive, would that be progressive because
3 we're still in a hospital in the post-op day one,
4 or would that apply to even after the discharge?

5 A. That would apply to after the discharge as well.

6 MR. MURPHY: Tony, can we take about a
7 two-minute break?

8 MR. TSAROUHAS: Sure.

9 (A brief recess was had.)

10 BY MR. TSAROUHAS:

11 Q. Again, I want to stick with the hypothetical
12 patient we've talked about so far, post
13 lumboperitoneal shunt placement, the symptoms
14 that the patient is demonstrating are at least
15 severe pain in the back, severe pain in the right
16 thigh with a numbness or burning sensation in
17 both extremities up to the knees post-op day one.

18 In addition to that, immediately after
19 discharge from the hospital, that is over the
20 course of the next two weeks, assuming that
21 scenario, or even just to say that over the
22 course of the next week, one week post discharge,
23 that the patient has shooting pain going down one
24 of the extremities, at least one of the
25 extremities, what would that mean to you?

1 A. It would mean that there may be nerve root
2 irritation. It's called radicular pain.

3 Q. Would that be of some concern to you at that
4 point?

5 A. Patients who slip a disk can have nerve root
6 compression and have shooting radicular pains and
7 it is commonly seen after surgery. So I would
8 suspect that it could be a radicular pain concern
9 at that level, yes.

10 Q. It would be of concern to you because it could be
11 potentially related to the surgery?

12 A. It could be -- potentially be related to surgery,
13 yes.

14 Q. And that is the concern here when you're seeing
15 radicular symptoms or neurologic symptoms post
16 lumboperitoneal shunt placement procedure, one of
17 your concerns is that there isn't some neurologic
18 effect or neurologic damage which may be ongoing?

19 A. One of my concerns after a lumboperitoneal shunt
20 is radicular symptoms, yes.

21 Q. And that is because the shunt itself can somehow
22 be affecting the neurologic structures?

23 A. Because it is physically there, yes, in the
24 spinal canal.

25 Q. Now, if in addition to the symptoms we've been

1 talking about in that one-week post discharge
2 that there is also weakness, that is a difficulty
3 walking, weakness in both legs, but more so the
4 left leg starting to drag, that when a person is
5 trying to walk that the left leg is not coming
6 along at least like the other leg is doing, what
7 would that mean to you if a patient were to tell
8 you I'm having that problem?

9 A. It would potentially be a radiculopathy. Again,
10 compression of a disk would not be my most
11 concern in this situation obviously postsurgical,
12 but in a similar fashion to a compression with a
13 disk, development of severe radicular pain and
14 weakness either caused by the pain or independent
15 would be a consideration. It would be a
16 consideration there might be a nerve root
17 problem.

18 Q. If a patient were to advise you of those
19 problems, the ones we've talked about, that is,
20 if you will, a progression of the following
21 symptomatology: Severe groin pain, back pain,
22 right thigh pain with shooting pain going down
23 that extremity, the right extremity, a
24 generalized difficulty walking with the numbness
25 again or burning going into both knees, and the

1 left foot "dragging", if you will, when
2 attempting to walk, what would you want to do?

3 A. If the patient comes to me postoperatively after
4 a spinal procedure with progressive symptoms of
5 radiculopathy, I would want to observe the
6 patient, and depending on the severity or
7 progression, get imaging studies, and depending
8 on how far postoperatively, get imaging studies
9 to rule out nerve root injury or hematoma or
10 compression.

11 Q. What would you want to see from that
12 symptomatology? Can you be a little more
13 specific as to at least in your mind what is
14 necessitating an imaging study? I mean, what is
15 the breaking point where at some point you say,
16 okay, I've heard enough, I've seen enough, I
17 think we need to do some imaging studies to make
18 sure there is not a problem here?

19 A. If it is a radicular pain essentially --

20 Q. Meaning?

21 A. Meaning of pain down the leg, and other pain
22 associated with the surgery would also, of
23 course, in the post-op situation be acceptable,
24 then I would not necessarily do an image. I
25 would of course follow the patient closely to

1 make sure that there is no progression of
2 weakness, but I guess my threshold for concern
3 for imaging would be a progression of primarily
4 weakness, although definite increase in even
5 radicular pain could warrant an imaging in this
6 context.

7 Q. Okay. I think I lost that last part. So your
8 threshold -- and I like that because that is
9 exactly what I meant. What would be your
10 threshold to getting the imaging study? Go ahead
11 and tell me again.

12 A, Would be progressive neurological deficit -- I
13 guess we'll leave it at that -- which may be due
14 to radiculopathy, but that is what I would be
15 ruling out or looking at with an imaging study of
16 some kind.

17 Q. So I understand a progressive neurologic deficit,
18 would that equate to the scenario, the
19 hypothetical we've been talking about where a
20 patient post-op day one develops the right thigh
21 pain, severe back pain, numbness and/or burning
22 in both extremities up to the knees, and then
23 following that week, that is post-op week one
24 after discharge, has difficulty walking, the left
25 foot "dragging", and having shooting pain down

1 the right extremity, severe shooting pain down
2 the right extremity, and to the point that this
3 patient is unable or has very great difficulty in
4 walking, would that fit your category under that
5 hypothetical?

6 A. Yes, a progression of weakness and persistence or
7 progression of radicular symptoms would reach the
8 threshold of my concern, yes.

9 (A discussion was had off the Record.)

10 BY MR. TSAROUHAS:

11 Q. So under that scenario that hypothetical would at
12 least concern you enough to require imaging
13 studies?

14 A. You're repeating this question?

15 Q. I'm not repeating it, but just sort of following
16 UP.

17 A. Yes.

18 Q. And under that hypothetical situation, the
19 standard of care, at least at that point and as
20 you understand it, would require that the
21 physician in charge work that patient up
22 radiographically to begin ruling out the etiology
23 of the neurologic progression?

24 A. That would be my work-up.

25 Q. And I just was trying to establish what the

1 standard of care would be, and you believe that
2 would be the standard of care?

3 A. Yes, I believe that patient should be imaged.

4 Q. And that again is a belief with a reasonable
5 medical probability?

6 A. Yes.

7 Q. Is that a yes?

8 A. Yes.

9 (A discussion was had off the Record.)

10 BY MR. TSAROUHAS:

11 Q. And just following up, the failure to do that
12 would be below acceptable medical standards in
13 your opinion?

14 A. Within the definitions that we discussed before,
15 yes.

16 Q. And that is an opinion with reasonable medical
17 probability?

18 A. Yes.

19 Q. And the reason why that prompt attention has to
20 be given to that neurologic progression of that
21 hypothetical patient is because there is a
22 potential that there may be -- if not worked up,
23 there can be permanent neurologic consequences?

24 A. If a patient has progressive neurological
25 problems, then there is concern that there is an

1 ongoing process which is causing neural injury,
2 yes.

3 Q. And at that point in this hypothetical situation
4 it concerns you again -- and not to repeat it,
5 but it would concern you or a reasonable
6 physician, for that matter, because of the type
7 of surgery that was performed on this patient?

8 A. Yes.

9 Q. So January 6th of 1997 you had performed a
10 revision on Ms. Cimino?

11 A. Yes.

12 Q. Do you know why that revision was performed?

13 A. Do I know why that revision was performed?

14 Q. Right. I imagine you do.

15 A. Yes.

16 Q. Why was that revision performed?

17 A. That revision was performed because she had
18 persistent lower extremity pain, primarily with
19 the right leg, and because of complaints of
20 bilateral numbness. And these were severe enough
21 that she was willing to undertake the risk of
22 manipulating the shunt system.

23 Q. When you performed the operation did you at that
24 point determine the etiology of the lower
25 extremity radiculopathy?

1 A. The etiology, no, I did not.

2 Q. Do you have an opinion as you sit here today as
3 to the etiology of the lower extremity right
4 radiculopathy?

5 A. I don't have a definitive opinion because there
6 may be several causes, but I felt it was likely
7 enough to be a result of the catheter to attempt
8 to move it.

9 Q. And you believe it is more likely than not that
10 was the case, that the catheter was actually --

11 A. I believe that is a likely cause. I considered
12 that to be a likely cause. Whether there are
13 other ones, there may be other likely causes, but
14 I felt since I had done the surgery and the
15 catheter was there that it was a likely cause.

16 Q. And that is an opinion with reasonable medical
17 probability?

18 A. I believe so, yes.

19 Q. Now, what is it about what you saw when you
20 referred to your operative -- and you can refer
21 to your operative note if need be or your
22 discharge summary. Actually, I have your
23 operative note. Why don't we just look from this
24 one so you don't have to keep looking around.

25 A. I'm sorry. I didn't hear a question.

1 Q. Okay.

2 A. What was it about my operative note?

3 Q. Is there anything in your operative note which at
4 least reflects what you may have seen during the
5 procedure to explain, if you will, the likely
6 cause of her symptomatology?

7 A. No. And that is because nothing is essentially
8 seen at the procedure. The catheter was simply
9 pulled back essentially in a blind fashion to
10 move its position within the spinal canal.

11 Q. How did you move the catheter position? What
12 position was it in and how did you move it?

13 A. The catheter was in the spinal canal and it went
14 up, I believe, to the T12 region. This was based
15 on imaging studies done on her admission in
16 December.

17 Q. From what position, what level to what level?

18 A. I believe it was the T12, the original position,
19 and I retracted it back I think five to six
20 centimeters. The note would be right here. Six
21 centimeters. And that would be disconnecting the
22 shunt system at its connection to the abdominal
23 incision, pulling back six centimeters, cutting
24 that portion off, the excess, and reattaching.
25 So that is an operation in the -- just

1 essentially subcutaneous, relatively superficial
2 area, so I did not have any direct visualization
3 of the spinal nerve roots.

4 Q. So there are two maneuvers here, one pulling back
5 the catheter, then cutting off the excess and
6 then reconnecting it, essentially?

7 A. *Yes.* More than two but, yes, that is the
8 process.

9 Q. Making an incision and --

10 A. *Yes.*

11 Q. If you can, I'm just going to have you draw that
12 portion of the spine that is involved here. And
13 just, if you can, show me the shunt placement and
14 what levels we're talking about.

15 A. Does she document this?

16 Q. We'll just mark it as an exhibit, if you don't
17 mind, and we'll attach it just so I have a better
18 understanding.

19 A. This would be the spinal canal, so to speak.
20 This would be the entry of the catheter. This
21 will be T12 level (indicating). Of course this
22 drawing is not entirely accurate.

23 Q. It's not to scale or accurate.

24 A. *Yes.* But I extend it up this way. And there was
25 a bend or loop in the catheter within the canal

1 something like that. Afterwards the catheter was
2 pulled back six centimeters so that is something
3 like that (indicating) farther down, and this
4 being approximately at T12 level.

5 Q. And that is being at T12 as well?

6 A. Yes, although I would have to look at a
7 radiological record to know exactly where the tip
8 ended up in relation to T12, but it was a pull
9 down six centimeters.

10 Q. Actually, can you just tell from the
11 interpretation or do you need to see the
12 radiographic films?

13 A. I may be able to tell from the interpretation.

14 Q. Let me see here. The x-ray reports, flip through
15 that and see if you can see anything that works
16 for you.

17 A. Fluoroscopic imaging was provided during the
18 course of this procedure. No films were
19 obtained. No, I can't tell. But my intention
20 was to pull it down enough so it would be away
21 from nerve roots.

22 Q. So in other words, if you can show me here --
23 Actually, if you don't mind, I'm going to put
24 before and then sort of after, and this is the
25 1/6 revision. All right. At what level was the

1 catheter placed?

2 A. L3.

3 a. At the L3?

4 A. Uh-huh.

5 Q. All right. Do you want to go ahead and put that
6 on there?

7 A. (Witness complied.)

8 Q. All right. And then how many centimeters do you
9 believe of cath from the original surgery was
10 placed in the spinal canal?

11 A. I don't have the centimeters.

12 a. Well, it would obviously be more than six
13 centimeters?

14 A. Yes. Something on the order of perhaps twelve,
15 ten to twelve, something like that.

16 Q. Okay. Now, what is it about the clinical
17 presentation that necessitated the revision and
18 your maneuver in drawing back the catheter? How
19 is it that that made a difference in the
20 neurologic symptomatology?

21 A. Yes. In the imaging work-up done in December
22 there was contact between the distal end of the
23 catheter seen there and the nerve roots on the
24 right side. So my hope was that in pulling it
25 back I would change the position of that distal

1 end, bring it away from those nerve roots on the
2 right side.

3 Q. And which nerve roots were those? At what
4 levels?

5 A. I don't believe it could be determined what
6 levels. Because of the nerve roots of the cauda
7 equina, you can't say which nerve roots there
8 are. But it was at the upper level.

9 Q. So it would be at the T level nerve roots there
10 or you can't really say that at all?

11 A. Or upper lumbar.

12 Q. Upper lumbar nerve roots?

13 A. Yes. But I can't be certain what level at all
14 was affected, but I do know that the catheter --
15 I do know by the radiographic study that the
16 catheter crossed over to the right side at the
17 level of L1. So for right-sided radicular
18 symptoms, it had to be above the L1 level, so I
19 would assume upper lumbar or the T12 lower
20 thoracic region, because of the CT myelogram I'm
21 basing that on.

22 Q. Let me just bring your attention -- Is that the
23 one of December 14th?

24 A. That's an MRI.

25 Q. Then it is probably the next one. I'm sorry.

1 MR. MURPHY: December 18?

2 A. We have it. This is dated --

3 Q. That's date stamped 0079 on my chart. December
4 18th --

5 A. December 18th, yes.

6 Q. -- of '96. And what was it from that report that
7 you're able to gather the levels that may be
8 involved?

9 A. At least it extends to T12 level, although the
10 superior aspect of the catheter is not included
11 in the field of view. The dorsal nerve roots are
12 to the right of midline which tethers the cord
13 dorsally, so on the right side the nerve roots
14 are being contacted. And that is above the L1
15 level, because up above it says it enters on the
16 left side, extends superiorly within the
17 subarachnoid space to the left of the midline,
18 and then ultimately courses to the right of
19 midline at the L1 level.

20 That loop that I drew is just above
21 the last cut of the CT, so they can't see that.
22 But they see that at the end of the catheter it
23 is against the right nerve roots. And so I felt
24 that 'chat corresponded potentially to her right
25 radiculopathy or right leg pains, and that is why

1 I felt dislodging it would have an effect.

2 Q. Was it successful; do you know?

3 A. I believe it was, yes.

4 Q. Some of her neurologic symptoms had ceased?

5 A. I can refer to the post-op visit. It says that
6 she did not have the right leg pain.

7 Q. Did you note in the hospital record, if you
8 recall, whether or not Ms. Cimino, after her
9 December 14th admission -- Do you recall that
10 admission from the record?

11 A. Yes.

12 Q. Do you recall whether or not she did have, in
13 fact, neurologic symptoms associated with her
14 left extremity, left lower extremity?

15 A. Yes, I see that noted in the chart.

16 Q. Can you tell me whether you agree or you recall
17 that there was complaints of left lower extremity
18 weakness?

19 A. She came to the emergency room on the 13th and on
20 the 14th complaining of right leg pain and on the
21 14th with numbness as well. On testing, she was
22 felt to have a weakness in the proximal left leg.

23 Q. Do you have an opinion that is more likely than
24 not as to the etiology of the left leg weakness?

25 A. I was uncertain in the context of severe pain

1 whether this was weakness associated with pain or
2 was true weakness, so the etiology of the pain I
3 would be uncertain of. Often patients with
4 severe pain are weak secondary to pain, of
5 course.

6 Q. Okay. But at least the pain that was documented
7 at that time was right leg pain?

8 A. Right. The severe pain she was having was right
9 leg pain, although let me check on that and see
10 if there is any further --

11 Q. I think on --

12 A. I don't see any notation of other pain here.

13 Q. Actually, I just have the benefit of a little
14 summary that I put together. On page 0067 and
15 0068 I think we have progress notes from 12/14,
16 and at least there is documentation there we have
17 a left lower extremity weakness, there is a
18 complaint there.

19 A. Upon awakening was -- I can't read that word. I
20 can't read the words. I'm sorry. But secondary
21 to weakness in legs. It says legs.

22 Q. Okay.

23 A. So it says here she has weakness in legs. So I'm
24 not sure that would be specifically referring to
25 weakness of the left proximal flexors or if that

1 just is a reflection of general pain as well.

2 Q. Actually, let me refer you to page 0068, the next
3 note of that same progress note, I believe. Is
4 there a complaint of left extremity weakness?

5 A. Yes, denies right lower extremity pain.

6 Q. Let's address that for a moment. Can it be
7 sometimes that you can have neurologic symptoms
8 which will wax and wane, even though there is
9 some --

10 A. Certainly.

11 Q. Even though there is an ongoing neurologic
12 problem?

13 A. It's possible for them to wax and wane. If they
14 wax and wane, that reflects reversibility, so
15 that is a very good sign.

16 Q. Or on the other hand, also on occasion sometimes
17 there are mistakes made on the record, whether
18 the patient says it wrong to the --

19 A. There can always be confusions in the medical
20 record.

21 Q. Whether the patient may not relate the
22 appropriate symptom at the time, or the person
23 who is writing the complaints may have heard it
24 differently?

25 A. Yes. But if it does wax and wane and there is no

1 right lower extremity pain, that would be a good
2 sign actually.

3 Q. Now, I want you to assume further, just to move
4 this along a little bit, that there was left
5 lower extremity weakness. Okay?

6 A. There was a report of 4/5 weakness in the left
7 proximal legs. There is no description of
8 whether it was related to pain or not, but yes.

9 Q. Now, those two clinical findings, one, the
10 complaint and, two, the finding on exam of the
11 left leg weakness, how does that correlate to
12 either the shunt revision or to the neurologic
13 symptoms that she was having?

14 A. I'm sorry. Could you repeat the question? I'm a
15 bit confused by the no right leg extremity pain
16 and I'm trying to put that together with both
17 legs pain and then no pain. Would you repeat the
18 question?

19 Q. There are some contradictions, and that happens
20 from time to time?

21 A. Yes.

22 Q. Now, my question to you is we understand or at
23 least we've established the most likely cause of
24 the etiology of the right leg pain, the right
25 neurologic symptomatology?

1 A. Reasonably probable, yes.

2 Q. Is there any explanation for the neurologic
3 findings in the left leg that is more likely the
4 cause?

5 A. Again, I don't definitively know. My concern
6 always in a post-op patient is that similar
7 thing, that it could be secondary to a catheter.
8 It was interesting to me that it was on the left
9 side, whereas any nerve root involvement -- of
10 course, this goes through the cauda equina in
11 this space, as many catheters do, so it is hard
12 to predict what the pattern will be. But this
13 weakness was on the left side and the severe
14 symptoms and the catheter seemed to be on the
15 right side.

16 It did enter on the left side, so a
17 guess -- and again, this is a speculation and I
18 don't know what probability to attach to it --
19 but if there is a true weakness on the left side
20 which is not related to pain, it could be to an
21 injury on the left side which is lower, in other
22 words, at the point of insertion. That could
23 indeed be an injury caused at the time of
24 insertion, because certainly a needle is more
25 likely to cause an injury than a catheter. The

1 catheter is quite supple.

2 Q. And this is the benefit of the record that we
3 have at least a documented symptom at that point
4 on December 14th, about three weeks
5 postoperatively?

6 A. It was not documented before and there are
7 records of strength before, but --

8 Q. I understand that, but I want you to assume for
9 the purposes of this hypothetical question that
10 were post-op -- immediately after a post-op day
11 one and that week, the first postoperative week
12 that in this hypothetical situation there were
13 indeed complaints of left leg weakness and
14 actually a left leg dragging while trying to
15 walk.

16 A. You're asking me to accept a hypothetical?

17 Q. Accept that as being true.

18 A. Accept it as a hypothetical or true, true in this
19 case or a hypothetical? I could accept anything
20 as a hypothetical.

21 MR. MURPHY: I think he is posing it
22 as a hypothetical.

23 A. You're saying if I see a patient with left-sided
24 weakness in the post-op of a lumboperitoneal
25 shunt placement?

1 Q. Right, and I'm trying to explain to you that at
2 least one of the descriptions hypothetically are
3 that the left leg was dragging.

4 A. Okay

5 Q. Now, under that scenario what would be the most
6 like y cause of that?

7 A. Under the hypothetical or under the --

8 Q. Hypothetical.

9 A. Would be a nerve root irritation or injury.

10 Q. Okay. Related to --

11 A. Likely related to the surgery. Whether it is due
12 to the insertion or due to the catheter as it is
13 continuing in the canal can't be certain, but I
14 think it is a reasonable possibility that it is
15 related to either of those.

16 Q. But again, more likely being to the procedure
17 itself, to the lumboperitoneal shunt placement?

18 A. Yes.

19 Q. If that is the case, in the hypothetical
20 situation if that is the case, that would be --
21 that injury would be iatrogenic in nature, it was
22 because of the insertion of the needle at that
23 level?

24 A. An insertion in the spinal canal can cause an
25 injury to a nerve root. If it is done during the

1 procedure, it is iatrogenic.

2 Q. Now, if the symptoms of the left weakness
3 postoperatively, you know, in the immediate
4 postoperative period, the first several days, a
5 week, two weeks, continue to progress, that is
6 the weakness in that left leg or left extremity
7 continue to progress, then what would that mean
8 to you? I mean, what would be the cause of that
9 when we have a progression --

10 A. Yes, I think we talked about this before.
11 Progression of neurological symptoms, deficits,
12 is a concern of progressive problem or injury or
13 irritation of nerve roots.

14 Q. And in all likelihood, either from the procedure
15 or the shunt?

16 A. Well, an injury at the time of insertion due to
17 the needle would not likely progress further
18 because it is due to that injury. An injury to a
19 continuing process like the -- like a catheter or
20 any other subsequent process may progress. I
21 would think that if we see an injury immediately
22 at the time of surgery it may be due to the
23 procedure as opposed to an ongoing process. In
24 other words, it may be static as opposed to
25 progressing.

1 Q. And, again, not to repeat what you said, but if
2 it is progressive, it is a progressive symptom
3 which continues, the left extremity weakness
4 continues to increase as time goes on, that means
5 that it is more likely related to the shunt or
6 perhaps that the shunt may have moved from its
7 original position or slowly has been aggravating
8 or, if you will, impinging upon the various nerve
9 structures or nerve roots in that level?

10 A. I think any of those things you mentioned are
11 possibilities, yes.

12 Q. Is there one that is more likely than not in your
13 mind under that hypothetical situation?

14 A. Under a gradual progression?

15 Q. Correct, of the left extremity weakness.

16 A. You mentioned catheter migration and so forth.
17 These things are possible. I don't think it is
18 really known how often these catheters really
19 move. I think that is probably less likely in
20 terms of migration, so probably continued contact
21 with nerve roots.

22 Q. Again, I think we discussed that earlier, but the
23 reason why a clinician would want to move quickly
24 on that if that is the presentation that is
25 brought to their attention is because of the

1 potential of permanent neurologic damage?

2 A. That is a concern with any progressive
3 neurological problem, yes.

4 Q. Now, do you know from your review of the record
5 postoperatively since January 6th, 1996, whether
6 or not Ms. Cimino has any or sustained any
7 permanent neurologic dysfunction?

8 A. The left leg weakness that is -- the mild left
9 leg weakness which was initially noted, I'm not
10 sure that could have been detected in the context
11 of the immediate postsurgical and the operative
12 pain there afterwards. I can't say definitively
13 whether there was an injury which is static and
14 created at the time of surgery.

15 I do know from the post-op PACU note
16 that she had right leg pain and radicular
17 symptoms immediately afterwards, and that is the
18 only radicular or nerve irritation or nerve
19 injury sign that we have. So I have that. In
20 terms of weakness, I don't have any evidence
21 either way of there being any injury at the time
22 of operation or immediately thereafter, the day
23 thereafter.

24 Q My question is a little bit different though. Do
25 you have an understanding of whether or not she

1 has any -- or at least it was documented from the
2 records you reviewed -- permanent neurologic
3 impairment?

4 MR. MURPHY: Currently you're asking?

5 Q. Currently as what is reflected in the records you
6 reviewed.

7 A. Currently I do not know. She was scheduled to
8 come to a follow-up after this replacement. She
9 came for a stitch removal and was said to be
10 having no more radicular pain, right leg pain and
11 be improving otherwise. She was scheduled to
12 come back in March, she did not come back. So my
13 last contact with her was at that time.

14 I had felt at that time that the
15 radiculopathy had resolved and she was doing
16 better and that was my last contact and
17 knowledge. So to my knowledge, I did not know
18 she had any permanent deficits.

19 Q. You indicated you had the chance to review Dr.
20 Mars -- well, what you could read --

21 A. I could read Dr. Ruch's typewritten notations and
22 they're contradictory a bit. It talks about at
23 one point 5/5 strength. It talks about giveaway
24 weakness. Giveaway weakness is when you try and
25 pull and someone gives way because of pain or

1 discomfort, perhaps, but gives way as a matter of
2 effort as opposed to weakness. There are also
3 maybe descriptions of weakness.

4 So I don't know because I don't have
5 direct observation and there is some conflict in
6 my mind in what is said in those brief notes
7 about her condition. So I honestly don't know
8 her condition right now.

9 Q. Do you know whether Dr. Sahgal or Dr. Ruch, for
10 that matter, had diagnosed Ms. Cimino as
11 suffering from a foot drop, left-sided foot drop?

12 A. I know that Dr. Ruch got a series of imaging
13 studies from the lumbar spine to try and diagnose
14 thoracic and I believe brain, in other words, a
15 full MRI, and she could not diagnose any
16 neurological injury. I don't know of Dr.
17 Sahgal's efforts and diagnosis.

18 Q. Do you know whether or not she was diagnosed with
19 a clonus, a positive clonus?

20 A. I know that clonus is mentioned I believe in the
21 later evaluations, probably Dr. Ruch, because
22 that is the one I could understand. So, yes, I
23 did hear about a clonus.

24 Q. What can be a cause of a clonus? I mean, what is
25 that?

1 A. Clonus is usually involved with the nervous
2 system above the nerve roots, and that is brain
3 or spinal cord itself. It's very unusual from a
4 lumbar procedure.

5 Q. You know, I'll just read this to you and just ask
6 you to comment. One of Dr. Ruch's notes
7 indicates that the clonus and spinal cord damage
8 that she has is probably secondary to one of her
9 shunts.

10 A. That is interesting. I note that in a letter
11 just before that she says that she has been
12 unable to detect any spinal cord injury. So I
13 know that she hasn't made that diagnosis based on
14 any radiological studies or diagnostic studies.

15 As I said, clonus, this type of
16 phenomena is usually due to some injury of the
17 upper motor neurons, which includes the brain and
18 spinal cord. So I assume that she is making that
19 statement that there is "damage" based on the
20 fact that she has clonus and something of the
21 upper motor neuron. However, that is a statement
22 without making the diagnosis based on the MRI, of
23 course.

24 Q. All right. Is there --

25 A. And certainly injury to neural elements can occur

1 with lumboperitoneal shunting, so it is a
2 reasonable possibility that a lumboperitoneal
3 shunt can cause injury to these elements.

4 Q. All right. In a hypothetical patient we've
5 talked about before where this patient at least
6 postoperatively develops the neurologic symptoms
7 that we discussed with the severe right extremity
8 pain, you know, the numbness coming down through
9 both extremities up to the knees, a left leg
10 weakness where it would cause someone to drag
11 their left foot, I suppose drag it behind because
12 of the weakness in an immediate postoperative
13 period, the first week, then that patient going
14 on and developing foot drop, my first question to
15 you is can the foot drop be consistent with the
16 location of the shunt?

17 MR. MURPHY: Is the foot drop right or
18 left?

19 MR. TSAROUHAS: Left foot drop.

20 A. So you have a left foot drop.

21 Q. Left foot drop, and this hypothetical patient
22 that has a progressive weakness in the left
23 extremity as time is going on, the weakness
24 continues and gets worse and worse.

25 A. A foot drop is usually caused by lower lumbar

1 nerve roots. Certainly all the nerve roots pass
2 by this catheter as it is going in. I think
3 you're asking me if a foot drop can be caused by
4 a lumboperitoneal shunt inserted at L3. It's
5 possible, but it is not very likely in that with
6 an insertion at that level the level of a foot
7 drop is a lower level than level 4. It's a level
8 5. So it is less likely, but since all the nerve
9 roots do pass that, yes, it's possible.

10 Q. It potentially can be consistent with, even
11 though we're at a higher level at T3 level --

12 A. Yes, because all the nerve roots pass through
13 there, yes. So your question is can a foot drop
14 be caused by a lumboperitoneal shunt placed at
15 the L3 or L4 level?

16 Q. Correct.

17 A. Yes.

18 Q. Would you agree then -- Now I'm going to apply
19 this hypothetical person to this case. I want
20 you to assume for the moment that in this case
21 Linda Cimino is that hypothetical patient where
22 postoperatively week one has left leg weakness --
23 left extremity weakness develops, begins
24 progressing as the days and weeks persist with an
25 inability to -- with difficulty walking and with

1 the left leg being weak and dragging, if you
2 will, as she walks, and that persisting through
3 to a point where she is then diagnosed with a
4 foot drop.

5 Assuming that for a moment, for some
6 neurologic injury which is the etiology of the
7 foot drop, under that scenario if that is true,
8 accepting that as being true hypothetically,
9 would you agree with me that the most likely
10 cause of the foot drop is associated with a
11 complication arising from the lumboperitoneal
12 surgery of November 21, '96?

13 A. Let me just rephrase it. I believe the answer is
14 yes.

15 Q. Sure. I want to make sure we're communicating.

16 A. Yes, I believe if a weakness develops in the left
17 leg and persists, progresses, and then eventually
18 develops into a foot drop and that progression
19 started at the time of a lumboperitoneal shunt
20 placement, that it most likely is related to some
21 aspect of the procedure. But it is uncertain
22 whether that is the catheter, the insertion, a
23 remote myelitis or any other conditions.

24 But I would say, yes, based on the
25 time link and the fact that this obviously

1 started and progressed at the time of surgery
2 that there was a relationship. Is that --

3 Q. Right. And that is an opinion with reasonable
4 medical probability?

5 A. Yes.

6 Q. More likely than not?

7 A. I believe so, yes.

8 Q. Again, taking that situation, that scenario, if
9 the physician in charge of the patient, that
10 information is brought to their attention that
11 there is this left leg weakness which is
12 progressing and persisting, how long should one
13 wait prior to going in and working up this
14 patient?

15 A. I believe with the identification of a weakness a
16 work-up including imaging should progress --
17 there should be an admission for observation and
18 a radiological work-up on that admission as
19 expediently as possible.

20 Q. And I guess I suppose -- I mean, maybe you don't
21 have an answer for this, but if this post-op day
22 one, two, three, four, how long of a progression
23 and persistence would you expect before you say,
24 okay, I've heard enough, that threshold, if you
25 will, and say, all right, I've followed this long

1 enough, I think we ought to intervene?

2 A. I'm not sure of a hypothetical here or not.

3 Q. The hypothetical.

4 A. Hypothetically when a progression of a weakness
5 is known to me, I would recommend the admission
6 immediately. I would not wait for a continued
7 progression of weakness. If I can go to a
8 specific, when I learned of her presentation to
9 the emergency room with not only the right pain
10 that I knew of, but of some other complaints,
11 although I didn't quite understand their etiology
12 or their relationship to the right leg pain, I
13 felt that this would warrant an admission and
14 observation to make sure that there is not a
15 progressive weakness and a work-up.

16 So as soon as I felt that there was
17 something possibly progressive or something
18 besides the pain that we had known about when she
19 had good strength, then I would recommend an
20 admission, observation and a work-up.

21 Q. And you indicated before that you don't see
22 anything in the record reflecting any left-sided
23 weakness, at least postoperatively in the acute
24 period?

25 A. Not postoperatively, no. I don't know whether it

1 is there or not, but I don't see a record of it.

2 Q. But you don't see any record of it in the first
3 week or the second week or as the time
4 progressed?

5 A. Although with severe pain, of course, from her
6 back pain, a mild weakness can be hard to detect.
7 We have to take that into account. But, yes, we
8 see no documented record of it.

9 Q. However, if a resident was advised of that,
10 whether it was in person during the
11 hospitalization or whether it was done by
12 telephone at some point or one of your staff came
13 to know that information and failed to
14 communicate that to you, that would be totally
15 unacceptable?

16 A. I think failure to communicate neurological
17 conditions is not what we want, of course.

18 Q. All right. In a scenario where, again, we have a
19 situation where there is the progression of the
20 left extremity weakness going on to persist for
21 up to a month and a half, a month and a week,
22 which then -- again, accepting all these things
23 as true -- developed into a foot drop, assuming
24 that being the scenario --

25 A. This is a hypothetical?

1 Q. That is the hypothetical we're talking about. If
2 that is indeed the case, at what point in time do
3 you believe that the injury would become
4 permanent? I mean, is there some time frame
5 between that you would expect, one week, five
6 days, a month?

7 A. I don't believe that is known and I think that
8 may depend on the severity of the weakness.
9 Certainly we see with cervical disks or lumbar
10 disks, we see weaknesses which can be restored if
11 they are mild. Certainly a plegia of an arm will
12 cause residual weakness if it is there for a
13 significant period of time. But a mild weakness
14 may recover fully.

15 Q. So I suppose there is some correlation as to the
16 degree of the deficit as to whether or not the
17 chances of recovering fully or for the most part
18 decreases. So the more severe the deficit, the
19 less likely or the less chance of full recovery?

20 A. It's a severity time function, yes. And if there
21 are any episodes where it is intermittent, as we
22 talked about earlier, that might play a role in
23 the processes as well.

24 **a.** Again, that is a good point that you brought up
25 about intermittent deficits or signs where the

1 one day there may be a weakness, the next day
2 not, the one day a severe pain, the next day not,
3 those things can change indicating that there is
4 a --

5 A. They may be reversible, that's right.

6 Q. And, again, the other explanation as to why we
7 can have the intermittent neurologic deficits or
8 symptoms is because the mechanism of that
9 neurologic deficit or symptom may change?

10 Maybe that is not artfully stated, but
11 where the etiology of that deficit may wax and
12 wane, if you will, where at one point you have
13 the notation, the next point you don't, as it
14 relates to the shunt --

15 A. Certainly if it waxes and wanes, that means it
16 can be reversed. In other words, when whatever
17 is there is not there, it goes away, it is
18 reversible and is not a permanent injury. Is
19 that what you're asking?

20 Q. No. Actually, I guess what I'm asking you, is
21 there some relationship between intermittent
22 neurologic deficits and the cause of those
23 deficits? I mean, is there --

24 A. Well, that is speculation, I guess. Certainly a
25 disk may get worse and may make the problem -- a

1 problem may worsen or it may retract and become
2 smaller. Yes, I suppose intermittent -- one
3 explanation of intermittent symptoms is changing
4 etiology, yes, that's true.

5 Q. And I guess just so I understand, like in the
6 case where we have a shunt placement,
7 lumboperitoneal shunt placement, that if the
8 shunt is indeed the cause of the neurologic
9 deficits, can it be the shunt changing location
10 sort of explaining why there is a more severe
11 deficit on one day as opposed to another?

12 A. I think it is possible for catheters to change
13 position, although I've never documented that or
14 known that to be the case. Catheters in other
15 locations can change position. It's conceivable,
16 I just don't have any information how frequently
17 that happens.

18 Q. Do you believe that the shunt in this case
19 migrated at all?

20 A. I think it is unlikely or migrated relatively
21 little. But, again, I don't know.

22 Q. Any evidence here in the record that Linda Cimino
23 was a noncompliant patient?

24 A. Noncompliant, no.

25 Q. Did she do something --

1 A. That I know of.

2 Q. Right, that you know of, that she did or did not
3 do something which led to her own problems or
4 harm?

5 A. Not that I know of, no.

6 Q. Did you discuss this case with anybody else other
7 than Mr. Murphy or the attorneys that have been
8 provided for you?

9 A. No.

10 Q. Let me just look at one more thing.

11 A. Can I add to one statement you asked about?

12 Q. Sure.

13 A. You asked about things that might have
14 contributed on her end to injury, and the only
15 thing was my concern -- and this is kind of a
16 complex issue -- it is a difficult thing of
17 balancing between pseudotumor and the treatment
18 of that and radicular pain. I'm not saying
19 anything about negligence or causing.

20 What I'm saying is one thing I had to
21 weigh carefully and discuss in detail with her is
22 the risk of doing a second procedure to
23 manipulate a catheter to relieve the leg pain,
24 and the fact that a reasonable possible outcome
25 of that might be that we have to remove the shunt

1 completely or it might get infected.

2 I was very concerned that the
3 treatment of her severe pseudotumor would be
4 compromised. I was pleased that she had relief
5 of her headaches or almost, you know, resolution,
6 maybe not entirely, but good relief eventually in
7 her papilledema and so forth, she did not have
8 any of the blackouts and visual changes.

9 I was very concerned and went over
10 with her before that revision of the shunt that
11 these symptoms were severe enough for her to go
12 ahead and risk the other symptoms or the other
13 possibility of a compromise of her treatment of
14 her pseudotumor.

15 And once that was established that
16 these symptoms were bad enough that we're going
17 to risk, I did tell her that I felt I could --
18 had a good chance of changing it so that maybe it
19 wouldn't be near a nerve root and might make her
20 feel better and still keep the shunt.

21 But I had to let her know that we
22 might have to remove the shunt eventually or the
23 manipulation might cause infection and we have
24 now a working system and that's very important
25 for overall care.

1 So it's a complex thing, but I had to
2 make sure that she wanted to take the risks of
3 manipulating that catheter, which is of concern
4 because the other disease that we were treating
5 is severe.

6 Q. You were recommending taking that risk though to
7 revise the --

8 A. After consultation with her I was recommending
9 it, because I had to feel that her symptoms were
10 severe enough and bothersome enough for her to go
11 ahead and take it out. I noted that in Dr.
12 Ruch's later assessments she says some of these
13 problems were annoying but she did not recommend
14 any further removal of the catheter system which
15 was working and has not touched it.

16 I'm not saying that this was just
17 annoying and that this has not been a big
18 problem, but what I'm saying is that the fear of
19 pseudotumor and the concerns about that disease
20 are real and have to be weighed into the overall
21 decision-making.

22 Q. And that might even explain that -- Do you know
23 whether or not sometime in December of '96 that
24 after a potential discussion you had with Ms.
25 Cimino that she, in fact, canceled the surgery

1 and then rescheduled?

2 A. I don't recall that directly.

3 Q. Okay.

4 A. Are you saying that is a potential discussion or
5 it was a theoretical real discussion?

6 Q. It may have been a discussion that initially she
7 was scared of the surgery and then canceled and
8 then may have talked to you again and then
9 rescheduled it.

10 A. About the revision?

11 Q. Right.

12 A. Not to my knowledge. I don't recall that.

13 Q. Okay. Do you have any opinion as to if you noted
14 I think in Dr. Ruch's notes from electromyography
15 that there was evidence of a possible or partial
16 denervation of the L2-L3 area on the left?

17 A. I recall seeing that from the record.

18 Q. What is that?

19 A. That is consistent with injury of the nerve roots
20 at that level.

21 Q. And this time it was found the denervation was on
22 the left side though?

23 A. Yes, I believe so. You have it in front of you.

24 Q. Let me find the page. Right there, the last line
25 there.

1 A. Yes, left side.

2 Q. What would be the cause of that?

3 A. Again, I'm certain, as we discussed before, the
4 lumbar on the left side, since the catheter was
5 seen on the CT to go in on the left side, as a
6 reasonable guess that would be due to the time of
7 insertion and would be an injury that is fixed at
8 that time.

9 Q. What would that type of injury -- What kind of
10 symptoms would you expect to see if there was an
11 injury to the L2-L3 nerve root system?

12 A. You would see a proximal weakness of the legs.

13 Q. Both legs?

14 A. Left side.

15 Q. Left side?

16 A. Left side.

17 Q. But again, if the weakness in the leg progressed,
18 then again it would be related to the L2-L3
19 insertion, but that there was a progression of
20 the symptoms?

21 A. It would not progress in the direction of a
22 distal weakness because that is a different nerve
23 distribution. So it would not progress in the
24 sense of a foot drop.

25 Q. What kind of weakness?

1 A. Increase in weakness at the flexion of the hip.
2 Perhaps the knee, but primarily the hip. But it
3 would not progress to a foot drop.

4 MR. TSAROUHAS: Very good. Doctor,
5 that is all the questions I have. You have the
6 right to review this deposition or you can waive
 that right. You can consult.

8 MR. MURPHY: If it's written up, I'll
9 get a copy and send it out to you to review and
10 see if it's taken down accurately or if there are
11 some things that need to be changed.

12 (Whereupon, signature was not waived
13 by the witness.)

14 (Plaintiff's Deposition Exhibit 1,
15 Drawing, was marked for identification.)

16 - - - - -

17 (Deposition concluded at 12:15 p.m.)

18 - - - - -

C E R T I F I C A T E

I, MARK LUCIANO, M.D., do hereby
certify that I have read the foregoing deposition
in the case of LINDA A. CIMINO, Plaintiff, versus
CLEVELAND CLINIC FOUNDATION, et al., Defendants,
and said deposition constitutes a true and
correct transcript of my testimony given at the
specified time.

MARK LUCIANO, M.D.

Subscribed and sworn to before me this
_____ day of _____, 1999.

Notary Public

My commission expires _____

- - - - -

C E R T I F I C A T E

STATE OF OHIO)
) SS
 STARK COUNTY)

I, Christine Leisure, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named Witness, **MARK LUCIANO, M.D.**, was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony given was by me reduced to Stenotypy and afterwards transcribed upon a computer, and that the foregoing is a true and correct transcription of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Canton, Ohio, on this 1st day of November, 1999.

Christine Leisure, RPR/Rodriguez

Christine Leisure, RPR & Notary Public
 My commission expires April 1, 2002.