

The State of Ohio )  
Cuyahoga County )

IN THE COURT OF COMMON PLEAS  
JOANNE GRANT, Admrix. etc., et al.)

Plaintiff, )

vs. ) Case #136464

MOUNT SINAI MEDICAL CENTER, et al.)

Defendant )

Deposition of MICHAEL MACKNIN, M.D. a  
witness taken before SHIRLEY TITCHENELL Notary  
Public within and for the State of Ohio in this  
cause on FRIDAY the 11TH day of NOVEMBER 1988 at  
CLEVELAND CLINIC, BLDG. A, THE PEDIATRIC LIBRARY Cuyahoga  
County, Ohio at 9:05 A.M. Pursuant to notice sent  
to counsel, this deposition was tape recorded  
by Legal Electronic Recording, Inc.

-----

LEGAL ELECTRONIC RECORDING, INC.  
THE ENGINEERS BUILDING  
Suite #913  
Cleveland, Ohio 44114  
(216) 621-3382

Job #88K-3885

APPEARANCES

DAVID GOLDENSE, ESQ.  
ONE PUBLIC SQUARE  
Cleveland, Ohio  
For the Plaintiff

JOHN IRWIN, M.D., ESQ.  
113 ST. CLAIR  
Cleveland, Ohio  
For the Defendant

1                   P-R-O-C-E-E-D-I-N-G-S

2                   Dr. Michael Macknin, of lawful age, a  
3                   witness herein having first been duly  
4                   sworn as hereinafter certified, deposes  
5                   and says as follows:

6                   DEPOSITION OF DR. MICHAEL MACKNIN

7                   BY MR. GOLDENSE:

8                   Q     Would you state your name and spell your last name  
9                   of the record, please?

10                  A     Michael Larry Macknin. My last name is M-A-C-K-N-I-  
11                  N.

12                  Q     Doctor, my name is David Goldense. We met briefly  
13                  before we started this deposition this morning. I trust  
14                  that by now, you know that I represent the estate f  
15                  Orlean Grant, a minor, in connection with an action  
16                  pending in Cuyahoga County, arising out of the death of  
17                  Orlean Grant, subsequent to treatment she received at  
18                  Mt. Sinai Medical Center, is that correct? Do you  
19                  understand those facts so far?

20                  A     Yes, I do.

21                  Q     Good. I'm going to ask you a series of questions  
22                  this morning. You see that we are tape recording,  
23                  electronically, your testimony. It's important that you  
24                  answer everything out loud. While I could see you nod  
25                  your head, it won't come out if the court reporter is

1 asked to transcribe this testimony down the road. Okay?

2 A Yes.

3 Q Thank you. For the record, you have handed me a

4 curriculum vitae of yours, consisting of eight pages, is

5 that correct?

6 A That's correct.

7 Q Have you had an opportunity to update this, so that

8 it is basically current to the present time?

9 A It is basically current, yes.

10 Q So at the present time, you are the chief in the

11 section of general pediatrics here at the Cleveland

12 Clinic?

13 A That's right.

14 Q And you are also currently serving as an assistant

15 professor of pediatrics at Western Reserve, and Rainbow

16 Babies and Children Hospital at the present time?

17 A That's right, but that's not a very active

18 appointment. They have cut back their medical school

19 affiliation. I am more affiliated with the University of

20 Penn State Medical School right now. We haven't

21 formalized the academic appointments there, but I am

22 director of the teaching program for the medical

23 students from Penn State here at the clinic in

24 pediatrics.

25 Q You graduated Ohio State in 1971. So Luke Whittey



1 was a sophomore your senior year?

2 A We were on the team together.

3 Q He was a sophomore your senior year.

4 A That's right.

5 Q That's the team with Jimmy Clemmons?

6 A He was the captain.

7 Q Clemmons and you were in the same class?

8 A That's right.

9 Q Where did you go to high school?

10 A Mayfield.

11 Q Mark Wager was what? A year behind you?

12 A He was also a sophomore. Mark Miner was a year

13 behind me. You're a good fan.

14 Q I went to a little school thirty (30) miles east of

15 there, and I played high school ball against Whittey a

16 couple of times, so it was a team that I was always

17 aware of the composition of. Let's get to some

18 important matters.

19 I understand you go to Ohio State. You graduate in

20 1971, and then you go to medical school at Harvard, and

21 you graduate in 1975, is that correct?

22 A That's correct.

23 Q And then your first training post graduation from

24 Harvard Medical School was at Massachusetts General?

25 A That's right, two years.

1 Q And that was a two year residency that you served  
2 there in pediatrics?  
3 A That's right.  
4 Q What is level one and level two when we talked  
5 about--when you say here on your CV, pediatric level one  
6 and pediatric level two?  
7 A That's what used to be called internship and first  
8 year residency.  
9 Q So level one would be analogous to an internship?  
10 A Internship.  
11 Q And level two would be your first year residency?  
12 A That's right.  
13 Q Then you followed up at Boston Children's Hospital  
14 for what looks to me to be a one year fellowship in  
15 ambulatory pediatrics?  
16 A At that time, you could combine your fellowship  
17 with your third year residency. So my first year of  
18 fellowship was also considered my final year of  
19 pediatric residency in general pediatrics. It was a  
20 fellowship in general pediatrics. And then my final  
21 year at Boston Children's, the fourth year of my  
22 training, I was the outpatient chief resident, plus I  
23 was doing the second year of my ambulatory fellowship. I  
24 was doing two things at once.  
25 Q I'm sorry, you were fourth year outpatient chief

1       resident?

2       A     I was the outpatient chief resident.

3       Q     And what else?

4       A     It was the final year of my ambulatory fellowship,  
5       my fellowship in general pediatrics.

6       Q     Explain for me, starting with your medical--first  
7       of all, before you went to Harvard, did you work in any  
8       emergency rooms, while you were an undergraduate at Ohio  
9       State?

10      A     No, I didn't.

11      Q     What was the first exposure you had to emergency  
12      room care in your medical training?

13      A     Probably the first intensive exposure, other than  
14      walking through and just seeing what was going on in the  
15      emergency room, was as a medical student.

16      Q     And that would have been at Harvard, between '71 to  
17      '75, right?

18      A     That's correct.

19      Q     Tell me about it. The intensive training that you  
20      had at Harvard in emergency room care.

21      A     There were several different experiences in medical  
22      school. At just about every rotation, for instance on my  
23      surgical rotation, I was in the emergency room for, I  
24      guess a week's period of time, taking care of minor  
25      trauma. Are you interested primarily in my pediatric

1 emergency room experience, I would assume?

2 Q Yes.

3 A So in pediatrics, the emergency room experience I  
4 had as a student, as a third year student, at Boston  
5 Children's Hospital, we would occasionally go down to  
6 the emergency room and see patients, but generally, that  
7 was mostly based on the floor. And then as a fourth year  
8 medical student, I was kind of what they call an acting  
9 intern at a community hospital, Cambridge City Hospital.  
10 And at Cambridge City Hospital, we were, as fourth year  
11 students, to a great extent, in charge of the whole  
12 hospital, in the evening particularly. We would be on  
13 with rotating interns, who weren't that interested in  
14 pediatrics, quite frankly. They would pretty much tell  
15 us to run the show, and wake them up only in cases of  
16 dire emergency. So we covered the emergency room in a  
17 fairly busy community hospital, and would to a great  
18 extent, do that by ourselves. And I did that for a  
19 month's period of time.

20 Q For one month?

21 A That's right. That was in medical school. That was  
22 as a fourth year medical student.

23 Q And then it appears that--let me just ask the  
24 question. When did you next have exposure to emergency  
25 room care, from a pediatric standpoint, subsequent to

1 graduating from Harvard?

2 A Then as an intern at Massachusetts General  
3 Hospital, and as a resident at Massachusetts General  
4 Hospital.

5 Q And that was this internship and first year  
6 residency in level one and level two?

7 A That's right.

8 Q I see here from your CV that it looks to me like  
9 you spent a twenty-four (24) month period of time  
10 between 1975 and 1977 in that two years of internship  
11 and first year residency. Is that how it works?

12 A That's right.

13 Q Let's see if we could do it this way. Of those  
14 twenty-four (24) months, how many months would have been  
15 devoted to emergency room service, where you had a focus  
16 on pediatric care?

17 A It was several months, and I can't tell you exactly  
18 how many, but it was many--it was several or many  
19 months, four or five.

20 Q Four or five months maybe?

21 A Four or five months would be entirely emergency  
22 room experience. Again, we also spent time as residents  
23 at Cambridge City Hospital, where I had--they had  
24 changed the rotation by then, where they had  
25 pediatricians more in charge of the emergency room at

1 Cambridge City Hospital. So I became more intimately  
2 involved in the emergency room at Cambridge City  
3 Hospital, and also at Massachusetts General Hospital. I  
4 was at a community hospital for some of my emergency  
5 room experience, plus at Massachusetts General  
6 Hospital.

7 Q Then you were a fellow in ambulatory pediatrics at  
8 Boston Children's for what looked like, what, a twelve  
9 (12) month period of time?

10 A Actually twenty-four (24), because that second  
11 twelve (12) month period is really part of the  
12 fellowship in ambulatory pediatrics.

13 Q Now of that twenty-four (24) month period of time,  
14 when were you at Boston Children's, was the substantial  
15 portion of that time spent working with pediatric  
16 emergency room patients?

17 A The first year, a great deal of time--all my night  
18 call was in the emergency room. We were in charge of the  
19 emergency room at Boston Children's Hospital as fellows.  
20 We would be the most senior person in the emergency  
21 room in the evenings. So about every fourth or fifth  
22 night, we were in charge of the emergency room that  
23 would see several hundred patients a day, and we would  
24 be the ones that were supervising in the evening. We  
25 also had some calls during the day in the emergency

1 room, and it was our responsibility to supervise what  
2 was going on, do a lot of teaching, and plus see  
3 patients on our own. And we also had an opportunity to  
4 do some moonlighting to help out, because a lot of  
5 times there weren't enough residents. So while one of  
6 our fellow fellows would be supervising the emergency  
7 room one evening, we would be helping out, seeing  
8 patients. So I had direct hands-on experience seeing  
9 patients, plus I was oftentimes supervising the  
10 emergency room in the first year during the day and  
11 nighttime. And then the second year of my fellowship, I  
12 pretty much was in charge of the teaching in the  
13 emergency room. I was in charge of the teaching program  
14 in the emergency room at Boston Children's Hospital  
15 during the daytime hours. So I organized the teaching  
16 program, would spend a large portion of my time,  
17 probably half my time seeing any case that the residents  
18 had any questions about, and basically supervising just  
19 about all that went on in the emergency room, and  
20 seeing--really being responsible for hundreds of  
21 patients a day, probably.

22 Q So now at that point, if I may interrupt you...

23 A Sure.

24 Q ...you have reached a point where your pediatric  
25 experience is becoming part of your general supervisory

1 function over the staff in the emergency room. Is that a  
2 fair statement? I mean the pediatrics are now just a  
3 subset of all the universe of patients who are being  
4 seen in the emergency room?

5 A No, that's all pediatric patients. At Boston  
6 Children's Hospital, everybody that came was a pediatric  
7 patient, and I don't know the exact numbers that we  
8 would see. I don't know if it was one hundred (100), two  
9 hundred (200), or three hundred (300) a day, but it was  
10 many patients a day. And as I said, during the day, I  
11 was in charge of the teaching program, and that  
12 primarily involved just going over the children that  
13 came into the emergency room with the residents, and  
14 talking with them about the patients, going into the  
15 rooms, examining the patients, and then discussing  
16 management plans with them.

17 Q And then the next year, when you were outpatient  
18 chief resident, how did...

19 A No, that's when I was outpatient chief resident. I  
20 was supervisor of the supervisors in my second year. In  
21 my first year, I was still in a supervisory role, but  
22 the person that was the chief resident didn't take as  
23 active a role in overseeing the emergency room. The  
24 person that had the position that I had my second year  
25 of training, when I was in my first year of training, if



1       that makes sense, didn't really take that active a role  
2       in the emergency room. That wasn't a particular interest  
3       that he had.

4       Q       I got confused when you said twenty-four (24)  
5       months occurred in '77, '78. What we're talking about,  
6       twenty-four (24) months represents the two positions?

7       A       '79, that's right.

8       Q       These two positions?

9       A       That's correct.

10      Q       I understand now.

11      A       I kind of redefined the job, because I had a  
12      particular interest in emergency room care and teaching  
13      the residents in an outpatient setting. I took a more  
14      active role in the emergency room than my predecessor  
15      had as chief resident.

16      Q       And it appears to me that after you finished that  
17      twenty-four (24) months, and you finished your fourth  
18      year of residency, if you will, at Boston Children's,  
19      you then took a position at the University of Chicago?

20      A       That's right, Michael Reese Hospital.

21      Q       And you were an instructor of pediatrics there for  
22      a twelve (12) month period of time?

23      A       That's right.

24      Q       And then you returned to Cleveland in 1980, and  
25      have been here ever since?

1 A That's correct.

2 Q Now when you first came to Cleveland, where did you  
3 go to work?

4 A At Rainbow Babies and Children's Hospital.

5 Q And spent two years there?

6 A That's correct.

7 Q So you were at RB and C for twenty-four (24)  
8 months, approximately?

9 A That's right.

10 Q And then came to Cleveland Clinic?

11 A That's correct.

12 Q Tell me a little bit about your twenty-four (24)  
13 months at RB and C.

14 A You're not interested in Michael Reese then?

15 Q You want to tell me about Michael Reese? Tell me  
16 about Michael Reese.

17 A It's the same kind--it's a walk-in experience, too.  
18 It was primarily precepting an emergency room. It was  
19 more a walk-in clinic than a true emergency room. There  
20 wasn't acute trauma there, but all the sick kids would  
21 come in for their walk-in care, and I didn't have much,  
22 if any responsibility for direct patient care. I was  
23 primarily supervising residencies, and their continuity  
24 of care clinic, which was their well child clinic, that  
25 they followed patients longitudinally, plus all the

1        sick children from the area who came to the hospital  
2        would be seen by the residents first, and then I would  
3        precept them. We would again discuss the cases, and I  
4        would go in and examine the patients.

5        Q        Excuse me. Is Michael Reese Hospital located right  
6        next to the University of Chicago on the south side?

7        A        It's on the south side. It's not right next to the  
8        university.

9        Q        How far from the university is the hospital?

10       A        It's several miles.

11       Q        West of the university?

12       A        It's pretty much along the lake. It's in not a  
13       great neighborhood of Chicago, on the south.

14       Q        That's going to become an issue in this case.  
15       That's why I asked where the hospital is.

16       A        It's in the south side of Chicago, and it serves  
17       to a great extent, the outpatient population, who is a  
18       poor black population.

19                    BY MR. IRWIN: Dare I ask how the  
20                    location of Michael Reese is relevant  
21                    to this case?

22                    BY MR. GOLDENSE: I'll get there.

23                    BY DR. IRWIN: Okay.

24                    BY MR. GOLDENSE: I'll get there, okay?

25                    BY DR. IRWIN: Good.

1 BY MR. GOLDENSE: Then I may even have a  
2 reason to keep you awake this morning.

3 BY MR. IRWIN: No, you're doing that.  
4 That's fine.

5 A Are we going to get to Rainbow now?

6 Q Yes. Is there anything else you can think that's  
7 important about your experience pediatrically from an  
8 ambulatory care standpoint at Michael Reese that you  
9 didn't tell me about?

10 A Not that I can think of, no.

11 Q Now you are at RB and C for twenty-four (24)  
12 months.

13 A That's right.

14 Q Tell me what the nature of your experience there  
15 was.

16 A I was in charge of what they called the team, and  
17 basically what I did was I had a small practice of my  
18 own, where I would see patients as a general  
19 pediatrician myself, and I would spend the majority of  
20 my time, again precepting residents who were seeing  
21 patients in that setting. And we wouldn't see, again,  
22 the acute trauma, or the children in shock, but we would  
23 see all the sick kids. They instituted a program whereby  
24 the emergency room, during the day, wouldn't see walk-  
25 in patients, because it was felt to be a better setting

1 for them to be seen in the outpatient department, and  
2 not in the emergency room setting. It was just more  
3 efficient for a variety of reasons. And so we saw all  
4 the sick kids, who weren't deathly ill, and the  
5 residents would generally see them first, though as I  
6 said, I had my own private practice there, too, that I  
7 would see children without residents helping me. But  
8 most of the time, I would be precepting the residents in  
9 the outpatient department, seeing either well children,  
10 or sick children with walk in illnesses.

11 Q And that was for two years?

12 A That's correct.

13 Q Did you maintain your private practice throughout  
14 that period of time?

15 A It's called a private practice, but it's really all  
16 part of the university. Everybody is on salary.

17 Q You didn't have a separate office of your own?

18 A No, it was university based, and I was there  
19 full time.

20 Q I understand. So you had a continuity of doctor-  
21 patient relationship?

22 A That's correct.

23 Q And that's what differentiated it from what I  
24 gather to be all of your experience prior to that time?

25 A I had some continuity of doctor-patient

1 relationship as an intern, resident, and fellow, but  
2 that was a relatively minor part of my practice.

3 Q But now you--excuse me, strike that.

4 At RB and C, you would have patients routinely  
5 returning to you for their well care visits?

6 A That's right, which I didn't have at Michael Reese.  
7 Which I had only slightly the first four years of my  
8 training.

9 Q So that's why you call it a private practice?

10 A That's right.

11 Q Because it was private to you?

12 A That's right.

13 Q I understand. And you then became chief of the  
14 section of general pediatrics here at the Cleveland  
15 Clinic in 1982?

16 A That's correct.

17 Q Tell me your job description here at the Cleveland  
18 Clinic Foundation.

19 A Initially I did two things mainly. I was in charge  
20 of the primary care department. I was director of  
21 pediatric primary care, and I was also doing consulting  
22 general pediatrics. Basically what happened is, three  
23 doctors left the clinic, and they hired one doctor and a  
24 nurse to replace them when I first came in, and I only  
25 was head of primary care, I'm trying to remember. I'm

1       trying to recall now, I think it was probably two years  
2       that I was in charge of primary care, which is the  
3       employee's health service basically, and you take care  
4       of the kids of the nearly ten thousand (10,000)  
5       employees here. And I supervised that. It was primarily,  
6       again, a continuity experience, where I would have  
7       private patients, plus we also had residents who worked  
8       with us, and I would precept them as they saw the  
9       patients.

10      Q       You keep using the word precept. Can I have a  
11      definition of precept, please?

12      A       Precept means that you see patients with residents,  
13      and the residents will see the patients, and talk with  
14      the patients, and then present the cases to you, and I  
15      usually have them present the cases, tell me about why  
16      the patients are here in front of the patients, and then  
17      I talk with the families, also, and then I go over their  
18      examinations. And then I sit and talk with the  
19      residents, and we decide what the most appropriate care  
20      will be. And that's what I mean by precepting.

21      Q       Thank you. Why did you leave Rainbow Babies and  
22      Children's to come to the Cleveland Clinic?

23      A       Because, for one thing, I liked my job at Rainbow a  
24      great deal, but the clinic pretty much let me write my  
25      own job description. I wanted to continue to provide

1 continuity of care. I wanted to have the opportunity to  
2 do teaching, do clinical research, and to be able to do  
3 consulting general pediatrics, which is get difficult  
4 cases from the community. The Clinic pretty much let me  
5 write the job description for the job I always thought I  
6 would like to have, and it was just too good an  
7 opportunity to pass up.

8 Q What were the restrictions at RB and C that were  
9 lifted when you came to the Clinic?

10 A The restrictions?

11 Q Yes.

12 A I think I had more control over what I was doing.  
13 This is a doctor-run institution that relies a great  
14 deal on your input into your practice, and trying to  
15 make the institution responsive to the needs of  
16 physicians, and in a university setting, it's pretty  
17 much a dictatorship, where you don't have much say in  
18 what's going to happen from day to day with your  
19 practice. You don't have much input into what's going to  
20 happen. If the chairman of the department decides that  
21 you're going to spend more or less time doing one  
22 activity or another, and you really don't have much say  
23 about that.

24 Q You have testified before in your life, in a  
25 deposition?



1 A I think a couple. Two or three times, I think. I  
2 can remember the last case. I'm not sure if I had one  
3 more before that, or not.

4 Q When was the last case, how long ago?

5 A A year or so. My secretary would have it better  
6 than I would. I don't know. A year or two.

7 Q Were you offering expert opinion?

8 A Yes, I was.

9 Q On behalf a defendant claimed to have committed  
10 malpractice?

11 A I was on the prosecutor's side in a malpractice  
12 case.

13 Q So you were representing the party claiming to be  
14 the victim?

15 A That's right.

16 Q Of the malpractice.

17 A That's right.

18 Q How do you know John Irwin?

19 A Primarily, when he was a medical student here at  
20 the Cleveland Clinic, doing pediatrics, I was in charge  
21 of the teaching program for the Case Western Reserve  
22 medical students, and John was a medical student here  
23 from Case.

24 Q Have you testified at his request in any other  
25 lawsuits?

1 A I don't think--no, I haven't.

2 Q Have you given him written report for use in other  
3 cases, other than this one?

4 A No.

5 Q I see that your CV contains categories with respect  
6 to my question: committee memberships, presentations, TV  
7 and radio, appearances, I assume, publications, book  
8 chapters, letters to the editor, articles, abstracts,  
9 grants, research activities and awards. And before I try  
10 and read each one of them, have you, with respect to  
11 those categories I just went over, gotten involved with  
12 the research, or do any of the--let me ask it this way.  
13 In those categories I just mentioned, do any of them  
14 deal with occult bacteremia?

15 Q Certainly a lot of the presentations I have given,  
16 a lot of the teaching activity I had, I had discussion  
17 with residents and interns. Occult bacteremia is in a  
18 lot of conferences. I doubt I would even have put that  
19 into my CV, but a lot of teaching conferences pertain to  
20 it in the institutions.

21 Q You know where here about a meningococcal  
22 infection, I trust by now.

23 A That's right.

24 Q Do we have, in any of these particular writings or  
25 presentations that I have just referred to in the CV,

1 any particular papers or presentations, or research, or  
2 book chapters, or the like, on meningococcal  
3 infections?

4 A Not specifically meningococcal infections.

5 Q So there is nothing I can go to at the library to  
6 find out what you have written elsewhere about  
7 meningococcal infections, is that fair?

8 A That's correct.

9 Q It sounds to me like, if I may say so, you had  
10 substantial experience in ambulatory pediatric care,  
11 prior to this case occurring in November of 1986?

12 A I would agree with that.

13 Q Fair enough. How many cases of meningococcal  
14 infection do you think you have found and diagnosed, in  
15 your experience from Boston, to Chicago, to Cleveland?

16 A I'm not sure of the exact number. I would say maybe  
17 five, probably. Obviously a very rough guess. I don't  
18 know for sure.

19 Q I'm going to need to get some more working  
20 definitions from you. Define sepsis for me, in the  
21 context of pediatric emergency care.

22 A I think sepsis has to be distinguished from  
23 bacteremia.

24 Q Fine. That's what I'm going to need. I'm going to  
25 need definitions today.

1       A     Bacteremia means that you have bacteria in your  
2       bloodstream, and sepsis, in the context of bacteremia,  
3       means that you have bacteria in your bloodstream, plus  
4       it implies that if somebody is septic, it implies they  
5       have a very significant, overwhelming illness.

6       Q     Characterized by what? Sepsis meaning a bacteria in  
7       the bloodstream, and an overwhelming illness, which is  
8       characterized by what?

9       A     I think the primary thing that you can generally  
10      hang your hat on, I don't know if you want to go through  
11      history first, or physical examination. By history, if  
12      you're taking the history of a child who is septic,  
13      generally you get the story from the family that the  
14      child isn't behaving normally. They are ill appearing.  
15      They aren't responsive. What I oftentimes like to talk  
16      to the parents about, if I'm trying to do it over the  
17      phone, or even with them, can you get the child to  
18      smile. Can you get the child to play with you, are they  
19      responsive. Usually, if the child has an overwhelming  
20      illness, they don't perk up. If they're not overwhelming  
21      ill, they have good times mixed with the bad. Just about  
22      every child who is sick, looks awful sometimes. But if  
23      they aren't overwhelming ill, they usually have times  
24      when they are perky, and active, and playful. And so in  
25      obtaining the history of a child that I'm concerned

1 about the possibility of sepsis, that's what I focus in  
2 on most, whether or not there are good times mixed in  
3 with the bad. I also ask about kind of start from the  
4 head down, and try and localize where the infection  
5 might be coming from. So if it's an older child, do they  
6 have headaches. In a younger child, or older child, do  
7 they have ear pain, are they batting at their ears, just  
8 trying to localize the infection.

9 Q You again, just to make sure that the record is  
10 clear, you're talking now about trying to reach a  
11 diagnosis in a septic child.

12 A That's right. I'm trying to...

13 Q This is a diagnostic process you're dealing with.

14 A What I do is history, and then I do a physical  
15 examination, and then I do laboratory tests, and then  
16 formulate an impression. If I was trying to--you're  
17 asking me how would I define a septic child, and I'm  
18 trying to answer as if I was the examining physician.

19 Q Fine.

20 A In the case of a child coming into an emergency  
21 room setting. And so I don't know if you want me to go  
22 through the whole organization.

23 Q Please. Now you're talking about the physical--  
24 history.

25 A I'm talking about history.

1 Q I'm talking more about history, that I would ask  
2 the parents trying to localize from history where the  
3 source of infection might be. So I would ask about runny  
4 nose, sore throat, difficulty swallowing, again moving  
5 down, difficulty with breathing, coughing, nausea,  
6 vomiting, diarrhea, if there are urinary symptoms, if  
7 there are joint symptoms. I would ask about skin rash.  
8 In the history, I would ask about exposure to other ill  
9 children, that I try to get a feeling for whether or  
10 not this child had other antecedent illnesses that would  
11 predispose him to a serious infection. So I would ask  
12 about hospitalization, surgeries, any medications they  
13 might be on, because sometimes parents forget to let you  
14 know key facts that might particularly predispose their  
15 child, and usually if that child hasn't been in the  
16 hospital, or had any surgery, or hasn't been on any  
17 medications, they don't have any significant chronic  
18 illnesses that would predispose them to becoming  
19 significantly ill. And then as I did a physical, sets  
20 the history pretty much in a child that I'm concerned  
21 about the possibility of sepsis. If I do a physical  
22 examination, the first and foremost thing I would look  
23 at is to see how the child looked. Kids oftentimes can't  
24 tell you how sick they feel, so you have to rely on  
25 their responsiveness, whether they make good eye

1 contact, whether they smile, whether they are  
2 consolable, and just to look at their general level of  
3 activity, seeing if they are moving all extremities. And  
4 then I would get a set of vital signs, including pulse,  
5 respiratory rate, blood pressure, temperature, height  
6 and weight. Height is not so important in acute  
7 episodes, but I would like weight as kind of a baseline  
8 value, in case the child's appetite goes down. You want  
9 to see if in the future, they are losing weight. And  
10 then I do a general physical examination. But again, I  
11 think the most important thing is the general appearance  
12 of the child, and then a new general physical  
13 examination, just kind of go from the head down. In the  
14 young child, when you're looking at the head, you would  
15 particularly be interested in a bulging fontanel.

16 Q Until what age would you be concerned about a  
17 bulging fontanel?

18 A Usually the fontanel is closed by about nineteen  
19 (19) months. Eighteen (18), nineteen (19) months. Some  
20 children close earlier, but just about all kids are  
21 closed a little after a year and a half. And I would  
22 also look at whether or not the neck was supple. Again,  
23 that's not a real reliable sign in a very young child.  
24 There is some literature to suggest that under eighteen  
25 (18) months, it may not be as reliable a sign, but if

1       it's present, it's helpful.

2       Q     What is neupal rigidity?

3       A     Neupal is neck, and rigidity means stiff. So you

4       have a stiff neck.

5       Q     And that's what you're talking about now?

6       A     That's right.

7       Q     You would check for neupal rigidity, but again,

8       it's not real reliable in an eighteen (18) month old?

9       A     That's right.

10      Q     Because they are pretty supple under any

11      circumstances.

12      A     That's right.

13      Q     That's the problem.

14      A     That's right, and also other meningeal signs, other

15      signs that are irritation of the meninge. So if you

16      extend their legs, if you flex their hips and then

17      extend their legs, there is something called a Kurnig

18      sign, where if you do that, their neck will come

19      forward, and in some cases of meningeal irritation. So

20      head, eyes, do an eye examination, ear examination,

21      looking for signs of ear infection, nose, for

22      congestion, look at throat, and then check for swollen

23      lymph glands, do an examination of the chest, heart,

24      abdomen, and genitalia, extremities. May or may not

25      examine the genitalia in a kid whose got a fever, but I



1 would examine the extremities and the skin, and try to  
2 get an idea from all those things, whether or not there  
3 were other signs of significant illness, and then from  
4 there go on in defining sepsis. Again, defining sepsis,  
5 the main thing is, again, the appearance of the child,  
6 but as far as--I don't know if you want me to go into  
7 anything more than that.

8 Q Let me ask a couple of specific questions. No, that  
9 was very helpful for me. Is low blood pressure an  
10 essential ingredient of reaching a diagnosis of sepsis?

11 A It's not essential. It's helpful. If it exists,  
12 it's very suggestive that the child is significantly  
13 ill.

14 Q But you can live in Boston, and we know you're in  
15 Massachusetts. If you have low blood pressure, you  
16 probably have sepsis with some of these other symptoms  
17 we're talking about. But is it also true that you can  
18 live in Massachusetts and not live in Boston, meaning  
19 that low blood pressure is not an essential finding of a  
20 septic child?

21 A That's right, particularly in children, because  
22 children have very reactive vascular trees. So they can  
23 maintain their blood pressure.

24 Q I'm sorry. They have very active?

25 A Their blood vessels can open and close real nice.

1       They aren't hardened like mine might be. They can  
2       maintain blood pressure by clamping down, the blood  
3       vessels get narrower, and you have good pressure  
4       maintained until really just before they might become  
5       shocky. So they can maintain a blood pressure quite  
6       well, until just before they might have their demise.

7       Q     While we are on the business of definitions, would  
8       you define differential diagnosis for me, in the context  
9       of pediatric emergency room care?

10      A     Differential diagnosis is coming up with the  
11      possibilities in a given case. So what could this  
12      possibly be? Usually when you see somebody, you have  
13      what you feel is the most likely, the second most  
14      likely, third most likely, and the differential  
15      diagnosis is listing all those, the possibilities that a  
16      given situation may actually be.

17      Q     Any other definitions I think I need? I think  
18      that's enough for the time being. I see that you've got  
19      a file here today.

20      A     That's right.

21      Q     And this is a file of information that you've had a  
22      chance to review in connection with this case.

23      A     That's correct.

24      Q     It's a silly question. You obviously had nothing to  
25      do with the care, management, or treatment of Orlean

1 Grant, a minor at Mt. Sinai Hospital?

2 A That's correct.

3 Q You never happened to see her in any other context?

4 A Not that I know of.

5 Q Say a well care visit.

6 A Not that I know of, no.

7 (OFF THE RECORD)

8 Q Doctor, the record should reflect that I've had the  
9 opportunity to go through the file that you have  
10 maintained, and let me just tick off some of the  
11 highlights of what I've found, to make sure that my  
12 reading is correct. First, you wrote a report to John  
13 Irwin, dated June 29th, 1987, summarizing your review  
14 and offering an opinion in connection with this case, is  
15 that right? And that's your June 29th, 1987, letter. It  
16 should be in that file there.

17 A Okay.

18 Q At the time of writing that letter, you had had the  
19 opportunity of reviewing the emergency room record for  
20 Mt. Sinai Hospital, correct?

21 A That's right.

22 Q And at that time, you had also seen the autopsy  
23 report from the Cuyahoga County Coroner's office, is  
24 that correct?

25 A I believe so. I'm not sure if the microscopic

1 report was available at that time or not.

2 Q There is a letter from your attorney to you,  
3 transmitting that to you, with enclosures.

4 BY DR. IRWIN: Objection to the reference  
5 of me being his attorney.

6 BY MR. GOLDENSE: I'm sorry.

7 Q An attorney acting on behalf of Mt. Sinai Hospital,  
8 had forwarded to you on July 8th, 1987, a complete  
9 autopsy report, is that correct?

10 A That's right.

11 Q And earlier than that, May 19th, 1987, had sent  
12 some coroner's records with respect to the decedent, is  
13 that correct?

14 A That's correct.

15 Q So if I understand you, when you wrote your report  
16 in June of 1987, you had not seen the report laboratory  
17 findings from the coroner's office, nor the autopsy  
18 protocol, is that correct?

19 A As I said, I think I had a preliminary autopsy  
20 report, but I don't think I had the final microscopic.

21 Q Had you any other information at your disposal,  
22 particular to the care and treatment of this child, at  
23 the time of writing your June 29th, 1987, letter?

24 A Not that I recall, no.

25 Q Now prior to testifying today, it appears that

1 other information has come to you about this case, is  
2 that correct?

3 A I got the microscopic report.

4 Q Right, and did you not also receive a report from  
5 Dr. Joseph Avram?

6 A Yes, that's right.

7 Q Dated...

8 A It's not dated.

9 Q Not dated. But you have reviewed Dr. Avram's report  
10 before testifying today, is that correct?

11 A That's correct.

12 Q And you have also--have you seen any deposition  
13 transcripts...

14 A No, I haven't.

15 Q ...of the testimony of Dr. Rosenfield or Dr.  
16 Baum?

17 A No, I haven't.

18 Q Have you come to know what testimony from Dr.  
19 Rosenfield or Dr. Baum has been in this case, from any  
20 source whatsoever, prior to testifying today?

21 A Partially, yes.

22 Q What do you know about their testimony? First of  
23 all, let me put it this way. How did you find out about  
24 what they testified to in this case?

25 A Dr. Irwin told me about it, their reports.

1 Q And we're referring to John Irwin, the attorney  
2 representing Mt. Sinai Hospital?

3 A That's correct.

4 Q So you know something about what's been testified  
5 to by Dr. Rosenfield and Dr. Baum so far in this case?

6 A That's correct.

7 Q What other information, particular to this case, do  
8 you have that we have not already discussed? Excluding  
9 your research into the journals now, of course.

10 A I can't think of any.

11 Q So you have seen the emergency room record, and now  
12 you have seen the full coroner's work-up in the case.

13 A That's right.

14 Q Did you ever see the well care visit record at Mt.  
15 Sinai's Outpatient Pediatric Clinic, prior to this  
16 child's death?

17 A I don't believe I have ever--I don't think I have  
18 ever seen them. I saw them referred to in Dr. Avram's  
19 report.

20 Q But you have not seen those records yourself?

21 A No.

22 Q And obviously, you have seen the emergency room  
23 record. I'm making that a deposition exhibit here today.  
24 And you have seen Dr. Avram. Okay, thank you, Doctor.  
25 Briefly summarize for me what your understanding is

1 about what Dr. Rosenfield testified to in this case.  
2 What is the understanding with which you are armed  
3 today, about the substance of Dr. Rosenfield's  
4 testimony?

5 A My understanding is, he was the emergency room  
6 doctor that saw the patient, is that correct?

7 Q I just want to know what your understanding is. The  
8 entries.

9 A If I remember the names, okay.

10 Q Yes.

11 A My understanding is that he recalled the case  
12 vividly, and remembered what the child looked like in  
13 the emergency room, and at the time that the child was  
14 discharged from the emergency room, the child apparently  
15 was drinking, and drinking well, and very responsive,  
16 and consolable, and was afebrile.

17 Q Without fever?

18 A That's right.

19 Q What is your understanding about the testimony that  
20 Dr. Baum has already offered in this case? Dr. Baum  
21 being the house staff physician in the emergency room  
22 that night, Dr. Rosenfield being the resident.

23 BY MR. IRWIN: Objection. He was not the  
24 house staff physician.

25 BY MR. GOLDENSE: What was Baum?

1 BY MR. IRWIN: He was the attending, not  
2 house staff.

3 BY MR. GOLDENSE: Sorry.

4 A I'm not specifically clear on who testified what. I  
5 guess what I related to you was what the general  
6 impressions, at least my understanding of the general  
7 impressions of the doctors that were there in the  
8 emergency room felt about the child's condition. So for  
9 me to specify whether Baum or Rosenfeld said one thing  
10 or another, I'm not certain at this time.

11 Q So that the record is clear, what you've just told  
12 me about the child being discharged afebrile, and having  
13 drunk some water, and the other things that you  
14 testified to, that was, as it were, a meld of both your  
15 understanding of the nature of the testimony of both  
16 physicians?

17 A That's correct.

18 Q Doctor, why don't we start by having you refer to  
19 some of the items in this file of yours? For the  
20 record, you are being paid for the time that you take to  
21 work on this case by Mr. Irwin, representing Mt. Sinai  
22 Hospital?

23 A Not me directly, but the Cleveland Clinic gets  
24 paid. I don't get anything extra from this. I wish I  
25 did, but no, I don't.



1 Q You wrote a letter to John Irwin on June 17th,  
2 1988, enclosing what appears to me to be six articles,  
3 is that right, and I'm handing you the letter right  
4 here, so you can look.

5 A That's good.

6 Q So that I can reconstruct the substance of this  
7 June 17th letter, are these the six articles with  
8 numbers one, two, three, four, five, and six in the  
9 upper righthand corners?

10 A That's correct.

11 BY DR. MACKNIN: Mr. Irwin, with your  
12 permission, what I would like to do, at  
13 the end of the deposition, I would like  
14 to just write down the titles of these  
15 articles. I don't need to take them out  
16 of this file. Just I know which ones are  
17 referred to.

18 BY DR. IRWIN: I have copies of those,  
19 Mr. Goldense. I would be happy to supply  
20 you with a copy of my copies, if you  
21 would like.

22 BY MR. GOLDENSE: That would be great.  
23 And may I have a photocopy of this June  
24 17th letter to you?

25 BY DR. IRWIN: Yes.

1 BY MR. GOLDENSE: This is a photocopy. We  
2 can make a copy of that letter. Is that  
3 okay?

4 BY DR. IRWIN: Sure.

5 Q Now referring now to your June 29th, 1987 letter to  
6 John Irwin, at Reminger and Reminger, this is a three  
7 page letter, with a reference list at the back, a two  
8 and a half page letter, with a reference list at the  
9 back, consisting of your opinion, and I would like to  
10 spend some time going over this letter with you. So why  
11 don't you keep that right in front of you?

12 A Okay, fine.

13 Q In the second paragraph, starting with the initial  
14 history, you comment that the chart does not provide a  
15 clear etiology of the patient's problems, then other  
16 than symptoms of crying, a dry cough, poor appetite,  
17 vomitting after eating, and feeling warm, there are no  
18 other positive findings outlined in the history. Taking  
19 that particular statement, there are no other positive  
20 findings outlined in the history. Can you explain for me  
21 exactly what other kinds of positive findings one might  
22 have seen? I don't quite understand what the nature of  
23 your comment is.

24 A We were discussing sepsis earlier, and the elements  
25 of the history that I would look for, in trying to

1 determine if a child was septic or not, those were the  
2 kind of other kinds positive findings I would refer to.

3 Q Tell me what those are.

4 A It would be nice to know how irritable the child  
5 was. Was the child crying inconsolably, or was the child  
6 consolable, did the child have periods of alertness and  
7 smiling, which wouldn't be a positive. That would be a  
8 pertinent negative. You just want the positives and  
9 negatives, or just the other positive findings?

10 Q That's why the use of the word positive there was a  
11 little misleading to me. Because a negative finding is  
12 important, isn't it?

13 A Yes, a negative finding is important.

14 Q And positive findings are very important, like the  
15 child is consolable.

16 A That's right, or the child does or does not have  
17 nuchal rigidity, that the mother might have noticed.  
18 There are other things that just weren't mentioned,  
19 diarrhea.

20 Q That's what I want you to discuss for me. Tell me  
21 about the things that aren't mentioned positively or  
22 negatively, that would have been helpful for you in  
23 reviewing the history taken here. You just said nuchal  
24 rigidity, crying, inconsolability.

25 A That's right.

1 Q Diarrhea, what else?

2 A Other general things that I had, consolability,

3 where the child was laughing, playing, alert, responsive

4 to the environment, the general things that there could

5 have been a helpful positive or negative. Other things.

6 Family members usually don't notice a bulging fontanel,

7 but sometimes you can ask them if there is a fullness of

8 the head, skin rash, presence or absence of skin rash,

9 joint, if there are any joint involvements, joint

10 infections, pulling at the ears, or evidence of ear

11 pain.

12 Q Or lack of it.

13 A That's right.

14 Q And in all of these, the presence or absence of all

15 of these signs, you would have appreciated having in the

16 history here, is that correct?

17 A It would have been ideal.

18 Q I understand. We don't live in a perfect world. I

19 understand that.

20 A Should I--I don't know what you...

21 Q Are there other signs that you would have ideally

22 looked for?

23 A If there is any respiratory distress. There is

24 mention of a cough, is the child having trouble

25 breathing. There is vomitting, the first state of

1 hydration, if the mucous membranes are moist, the lining  
2 of the mouth, if it was moist, if there were tears, if  
3 there is adequate urine output, and the usual number of  
4 wet diapers. And then exposures to other--if everybody  
5 in the family had a cold, it would be reassuring.  
6 Obviously not one hundred percent (100%) reassuring, but  
7 if everybody in the family had a cold, or if the child  
8 was in day care, and there were other sick children in  
9 day care. Were there any exposures to ill children. And  
10 then, as I said, some general idea of the past medical  
11 history, where the child is a well or healthy child.

12 Q I'm not misleading you. It is absolutely true, and  
13 you know this from Dr. Abramss report, this child was  
14 born at Mr. Sinai Hospital, and had had all of her well  
15 care visits at the pediatric outpatient clinic at Mt.  
16 Sinai Hospital, and I believe that that's reflected on  
17 the emergency room record here.

18 BY DR. IRWIN: The top right corner.

19 BY MR. GOLDENSE: Page 1?

20 BY DR. IRWIN: Yes, private physician  
21 employed at Mt. Sinai.

22 Q MSMC, okay. So then, having read Dr. Abramss'  
23 report, you are in agreement with some of his statements  
24 anyway, insofar as paragraph four on page 1, that you  
25 have in your hand, says that the physicians report was

1       brief and deficient in several aspects.

2                   BY DR. IRWIN: Objection.

3       Q     Do you agree from the testimony you have just given  
4       me, that the report on the emergency room record,  
5       specifically Deposition Exhibit 1, is brief and  
6       deficient in several aspects?

7       A     I'm not sure if it has any bearing on the outcome  
8       of the case, but I would agree that it would be...

9       Q     That's going to be for someone else to decide in  
10      this case, Doctor. Neither you, nor John Irwin, nor  
11      David Goldense is going to decide what the relevance of  
12      all these things are. My question to you is, whether or  
13      not you agree with the statement from Dr. Abrams, that  
14      the physician's report...

15      A     I agree that it would have been nice to have these  
16      other aspects that I outlined.

17                   BY DR. IRWIN: Objection and move to  
18                   strike, as not responsive to the  
19                   question.

20      Q     Do you agree that the physician's report, as  
21      contained in Deposition Exhibit 1, is brief and  
22      deficient in several aspects, quote, unquote?

23      A     Yes, I would.

24      Q     Referring to Deposition Exhibit 1 and Deposition  
25      Exhibit 3, the triage station, it appears to me that

1       there is a contradiction with respect to the child's  
2       appetite. Have you had a chance to review Deposition  
3       Exhibit 1 and Deposition Exhibit 3?

4       A       I have. You'll have to point out to me the  
5       discrepancy, though.

6       Q       In Deposition Exhibit 1, which is the face sheet,  
7       if you will, there is a report. It is reported on the  
8       third line under physical findings, an arrow pointing  
9       up, appetite, though emesis following meals. Do you see  
10      that, right here?

11      A       Yes, I see that.

12      Q       Now when you refer to the triage notes in the  
13      emergency department, under chief complaint on  
14      Deposition Exhibit 3, if you just look in the upper  
15      righthand corner, you see all the numbers.

16      A       Yes.

17      Q       You see, chief complaint, fever, throwing up, not  
18      eaten since yesterday. Do you agree that there is  
19      apparently a contradiction as to the history of the  
20      child's appetite, between Deposition Exhibit 1 and  
21      Deposition Exhibit 3?

22                   BY DR. IRWIN: Objection.

23      Q       Do you agree that they are in conflict, Doctor?

24      A       It depends on how you ask a question to a mom. A  
25      lot of parents, if you ask them if your child has eaten,

1 will not talk about liquids at all. They don't consider  
2 it...

3 Q My only question is whether or not you agree that  
4 the report on Deposition Exhibit 1, and what was  
5 reported at the triage station are in conflict. I'll  
6 give you a chance to give me your interpretation in a  
7 second.

8 A Okay.

9 Q Do you agree that they are on conflict?

10 A For you and me, they would...

11 BY DR. IRWIN: Objection.

12 Q Yes, you may answer.

13 A As I say, for you and me, it may look like it's in  
14 conflict. When you take a history from parents,  
15 oftentimes...

16 Q They have a reason for the apparent...

17 A Yes.

18 BY DR. IRWIN: May he finish his answer,  
19 please?

20 BY MR. GOLDENSE: I'm trying to--all I'm  
21 trying to do, John, is make sure that  
22 the answers are responsive. I was  
23 cutting him off, so he could now give  
24 his interpretation.

25 BY DR. IRWIN: I would appreciate it if



1                   you would allow him to finish his  
2                   sentence before you cut him off.

3       Q       Can you explain to me what you and I, I think have  
4       agreed, is an apparent conflict in the history?

5       A       I'm not sure I can explain it to you. I can tell  
6       you what oftentimes happens when you take a history from  
7       parents. You ask them if your child is eating, and  
8       they'll say, no, they haven't eaten anything. And then  
9       you ask them if they are drinking, and they say, oh,  
10      yes, they are drinking a lot, because a lot of times,  
11      parents don't equate eating with drinking. I'm not sure  
12      that's what happened in this case obviously, but in  
13      trying to explain what might have happened. It's not all  
14      uncommon, particularly in the setting in an emergency  
15      room setting, as I say, when you ask a parent about  
16      eating, that they don't even include what a baby has  
17      been drinking as something that they have eaten. They  
18      consider eating solids, and they consider drinking  
19      something separate. And again, obviously, I have no idea  
20      whether that's what was going on in this case, but just  
21      from my experience, I know that you have to word your  
22      questions carefully, or else you'll get answers which  
23      may be misleading in this kind of setting.

24      Q       Fortunately we have a note in triage, right below,  
25      not eaten since yesterday. The next line under pertinent

1 history, related to present illness is, drank a little  
2 today.

3 A Yes.

4 Q Looking at those two statements, not eaten since  
5 yesterday, and drank a little today, that would be  
6 consistent, wouldn't it, with a decreased appetite?

7 BY DR. IRWIN: Objection.

8 A It would be. I think it would be consistent with a  
9 decreased appetite. Again, it depends on whether the--  
10 because some parents, if the kids vomit after--it's  
11 amazing how often, if the kids vomit after they eat,  
12 they don't count what they drank as even drinking. They  
13 figure if you get a half gallon down, that you vomit  
14 after every feeding, if you ask if they have had  
15 anything to drink all day, they say no, they have had  
16 nothing in, but you really don't know how much they have  
17 absorbed. But I would agree, for you and me, it would  
18 look like there is a conflict. Now again, I don't know  
19 how to interpret it in this particular case.

20 BY DR. IRWIN: Move to strike.

21 Q Don't worry. That's just lawyer stuff.

22 BY DR. IRWIN: I don't mean to interrupt  
23 your train of thought.

24 BY DR. MACKNIN: No, that's all right. I  
25 don't care.

1 BY DR. IRWIN: It is just lawyer talk.

2 Q Doctor, in your report, you make the statement that

3 the history is nonspecific and is very common in young

4 children with high fever.

5 A That's correct.

6 Q And that this history does not provide a clear

7 etiology of the patient's problems. That's correct,

8 isn't it? That's what you said.

9 A That's correct.

10 Q With this history, and using your list of--using

11 your definition of differential diagnosis, what

12 diseases, illnesses, or disorders would have made your

13 laundry list, based on that history, with respect to the

14 differential diagnosis in this case?

15 BY DR. IRWIN: Objection.

16 Q From the perspective of a man with your experience

17 in ambulatory pediatric care, trying to ignore the fact

18 that you know what the outcome of the case is.

19 BY DR. IRWIN: Objection.

20 A I can answer?

21 Q Sure.

22 A My major, in forming a differential diagnosis, I

23 think you list in your mind, you list those things that

24 you feel is most likely, and then other possibilities,

2% but less likely possibilities. From looking at this, I

1 would think that the major differential that I would  
2 have would be some sort of viral illness, nonspecific  
3 viral illness, causing GI, gastro-intestinal and  
4 respiratory symptoms. And that would be, I think, number  
5 one.

6 Q Number two? I'm sorry. Didn't you--I'm interrupting  
7 you again. I apologize.

8 A That's all right.

9 Q Didn't you say, when you reached the differential  
10 diagnosis, it's also subsequent to the physical  
11 examination?

12 A That's right.

13 Q Maybe we ought to go into...

14 BY DR. IRWIN: And subsequent to the  
15 history, which is the reason I objected,  
16 but I'm going to allow you to inquire.

17 BY MR. GOLDENSE: Thanks.

18 Q Let's go through the history and physical  
19 examination, and then come back to this question about  
20 what would be on our laundry list for the differential  
21 diagnosis.

22 BY DR. IRWIN: And the lab tests. He said  
23 that was part of the equation before he  
24 arrived at a differential diagnosis.

25 BY MR. GOLDENSE: Did he say that?

1 BY DR. IRWIN: Yes, he did.

2 Q Did you say that?

3 A I did.

4 Q When you reviewed the physical examination, it  
5 again had no specific clues as to the etiology of the  
6 patient's illness, from your reading of the physical  
7 examination, correct?

8 A That's correct.

9 Q And when you refer to physical examination, I take  
10 it that you refer specifically to the information  
11 contained on Deposition Exhibit 1, showing the physical  
12 examination?

13 A That's right.

14 Q Some urinalysis studies that were done.

15 A Plus lab.

16 Q Some lab work that was done, and also in this whole  
17 process of leading toward my differential diagnosis  
18 laundry list, the emergent--the x-ray studies that were  
19 done.

20 A That's right.

21 Q What about the physical examination was significant  
22 to you in reviewing it?

23 A The physical examination, as it was outlined, the  
24 temperature of a hundred and five four (105.4) was  
25 significant, and as it was outlined, the baby was

1 crying. The pulse was up, and the respiratory rate was  
2 also higher than usual, but with that degree of fever,  
that's an expected finding. The remainder of the  
4 examination, as described, was really unremarkable.

5 Q You said that the fever of one hundred and five  
6 point four (105.4) degrees was significant. Can you give  
7 me an idea of how--I'm trying to get you--I'm going to  
8 try and get you to give me a scale notion of a one to  
9 ten (10) scale, how is one hundred and five point four  
10 (105.4) degrees, in your experience of treating children  
11 for as many years as you have. I mean is that towards  
12 the upper end of high. I mean is she a lot more higher  
13 than that, or where would you put it on a scale of one  
14 to ten (10), for instance, or in any way you feel  
15 comfortable describing it.

16 A That's a high fever, and it's certainly higher than  
17 the average child that comes into the emergency room. If  
18 I could put it on a scale, temperatures don't go much  
19 above one hundred and seven (107). Your thermostat is  
20 set at one hundred and seven (107), one hundred and  
21 eight (108), and unless something is wrong with your  
22 thermostat in your head, it would be unusual a  
23 temperature to go above one hundred and seven (107) or  
24 one hundred and eight (108).

25 Q So we're talking about a high fever, well above

1 average, in your words.

2 A Definitely, yes.

3 Q In your report, in the third paragraph on the first  
4 page, you indicated that after treatment with eighty  
5 (80) milligrams of Tylenol, the fever returned to  
6 normal, one hundred (100) degrees rectally.

7 A Yes.

8 Q Would you agree, from a now second review, that a  
9 minimum of one hundred and sixty (160) milligrams of  
10 Tylenol were dispensed to this child, prior to the  
11 temperature returning to one hundred (100) degrees  
12 Farenheit rectally? I refer you, Doctor, again this is  
13 not a hidden ball game, to Deposition Exhibit 1 at the  
14 bottom, and Deposition Exhibit...

15 A It's unclear to me whether they gave it at both  
16 those times, or whether it was ordered at one time and  
17 given at another time. I just can't tell from this. It  
18 doesn't look like it's signed off. Except under med  
19 given time.

20 Q Assume for purposes of my question, that prior  
21 testimony in the case from the attending resident--  
22 that's not a contradiction. From the resident was that a  
23 minimum of one hundred and sixty (160) milligrams--the  
24 nurse and the resident.

25 A Fine. That doesn't make any difference.

1 Q Have testified that a minimum of one hundred and  
2 sixty (160) milligrams have been given in this case.  
3 A That's fine.  
4 Q My question is, now that you know, assume for  
5 purposes that one hundred and sixty (160) milligrams  
6 were administered.  
7 A Yes.  
8 Q Would that routinely, even with a bacteremia, cause  
9 the hundred and five point four (105.4) degree  
10 temperature to come down to a normal range of one  
11 hundred (100)?  
12 A Recently there has been literature that shows that  
13 the response to Tylenol is unrelated to the severity of  
14 the illness. So whether it's a virus or a bacteria, it  
15 may or may not have come down with Tylenol. So I guess  
16 that answers your question. It doesn't--the fact that  
17 the temperature came down doesn't speak to the severity  
18 of the illness.  
19 BY DR. IRWIN: Objection. Move to  
20 strike.  
21 Q You said this was recent literature?  
22 A That's right.  
23 Q How recent? Before November 10th of 1986, or  
24 after?  
25 A I think it's more recent than this case. I could



1 pull out the article for you, but somebody did a review  
2 of response in temperatures to antipiretics, and I'm  
3 pretty sure it was after this case. Because it's a  
4 common belief by many people that if the temperature  
5 comes down, it means it's a less severe illness, and if  
6 it doesn't come down, that means it's a more severe  
7 illness. And I'm pretty sure that it was after this  
8 time, but I would have to pull that article. I'm pretty  
9 sure it was after the time of this case.

10 Q In fairness to the defendant in this case, what I  
11 need to know is what the common understanding was among  
12 pediatricians with ambulatory focus, in November of  
13 1986, with respect to administering Tylenol, and seeing  
14 a five and a half degree--almost five and a half degree  
15 drop in temperature. Was it the common understanding in  
16 November of 1986 that that kind of response by a patient  
17 to Tylenol meant that there was not a severe illness  
18 underway in the patient?

19 A I think a lot of people would be reassured by that,  
20 but as I tried to point out, I'm not sure there is any  
21 data to make that assurance reasonable. I just don't  
22 know if there was any data prior.

23 Q When you say people, you mean physicians?

24 A Physicians and the lay public.

25 Q Your review of the chart further causes you to

1 write in your report, again on that first page, that the  
2 baby was described as taking two bottles of D5W, a  
3 dextrose solution, I gather?

4 A Yes, sugar water.

5 Q And took those well. What is the common  
6 understanding in your field, as to taking bottles of  
7 dextrose water well?

8 A What implications does that have?

9 Q Yes.

10 A I think that's reassuring. That's another bit of  
11 the puzzle, that it's reassuring that the child would  
12 feed well, because that's one more possible indication  
13 the child is not severely ill.

14 Q When was say taking them well, all that means to  
15 you is that she, the baby child, drank the water, and  
16 what? Didn't vomit it back up?

17 A Again, you can read into it as much or as little as  
18 you want. You can say that the baby wasn't balking at  
19 the bottle, and just took it easily and comfortably, but  
20 I can't tell from taking well. It's how you want to  
21 interpret those words.

22 Q And as we know, normal chest x-ray and urinalysis  
23 were--chest x-ray and urinalysis were normal for this  
24 child.

25 A Which I think is important as far as your line of

questioning, about how the child was eating, because the urine specific gravity was ten/ten (10/10), and that's what they call an isothenuic urine, which in English means that the baby wasn't concentrating the urine at all, so almost certainly was well hydrated at the time of presentation to the emergency room. Because that's a very sensitive indicator of the child's state of hydration, because if you are dehydrated, you concentrate your urine, so you're preserving fluids in your body, and the baby's urine wasn't the least bit concentrated.

Q Concentrated with acid?

A No, concentrated by not having too much water. By concentrated, I mean there is not much water.

Q I see. You're talking about the specific gravity measurement, aren't you?

A That's right. And there wasn't a lack of water in the urine. It wasn't as if the child was trying to hold on, hold on to body--hold on to water.

Q And if there had been a severe...

A If there had been a severe dehydration, there wouldn't be much water in the urine. You just get rid of the waste, and not put out any water with the waste. And this specific gravity indicates that the child was putting out water and waste, as opposed to just waste in

1 the urine.

2 Q What would you associate the dehydration that--I  
3 mean...

4 A Inadequate fluid intake.

5 Q You've never seen the original x-ray films taken at  
6 Mt. Sinai, have you?

7 A No, I haven't.

8 Q So when the chest x-ray was read as normal, what  
9 does that rule out to a physician, examining and trying  
10 to treat and manage this child?

11 A It helps rule out pneumonia. Sometimes even with a  
12 clear chest x-ray, you can still have pneumonia.

13 Q And the urinalysis, specific gravity and PH  
14 findings rule out what?

15 A It helped rule out severe dehydration, but you  
16 ought to be able to tell that, just by looking at the  
17 child, and moist mucous membranes, and tears, and things  
18 like that, and then trying to see if--it helps rule out  
19 urine infection, but it's not one hundred percent.

20 Q It helps rule out a urinary tract infection in  
21 either the bladder, or the kidneys, or the urethra, or  
22 ureter, or anything like that, right?

23 A That's right.

24 Q It certainly doesn't have anything--a negative  
25 urinalysis doesn't have anything to do with diagnosing

1 of finding the presence of a blood borne infection, does  
2 it?

3 A You can have a blood borne infection associated  
4 with the urinary tract infection, but only in that sense  
5 does it help you rule out the blood borne infection. So  
6 in answer, it really doesn't rule out a blood borne  
7 infection. I would agree with that.

8 Q A chronic discharge, the instructions which we have  
9 all had a chance to see, were to encourage fluids, and  
10 to take a half teaspoon of Tylenol every four hours, and  
11 to follow up in the pediatric clinic the next morning?

12 A That's right.

13 Q The pediatric clinic at Mt. Sinai Medical Center.  
14 Anything about those discharge instructions that you  
15 would have added to, given your review of this emergency  
16 room record?

17 A In the best of all possible--her house is at.

18 Q No, in providing standard of care that this child  
19 was entitled to under her own circumstances. I mean I  
20 acknowledge, we're not in a perfect world. I'm just  
21 asking if in providing that care to which emergency room  
22 physicians were required to provide for this child,  
23 under these circumstances, were those three instructions  
24 given at 11:00 at night, complete in your estimate in  
25 review of this case?

1       A     I think as far as providing the standard of care,  
2       it was very good that they had arranged a follow-up the  
3       next morning.

4       Q     And the other two intervening instructions were to  
5       encourage fluids and to administer a half teaspoon of  
6       Tylenol. Is there anything else you would have done,  
7       discharging this patient that night?

8       A     I don't know if I would have written it in the  
9       chart, but I would have said, if the child looked sicker  
10      through the evening, feel free to call. Again, I don't  
11      know if I would have written it in the chart.

12      Q     But you would have said it to the parents?

13      A     Yes, I would have.

14      Q     Help me understand why an instruction like that  
15      would be something that you're testifying to now you  
16      would routinely say, and wouldn't necessarily write down  
17      on the discharge instructions.

18      A     Because I don't write down everything, but every  
19      time I see a sick child, I like to let the parents know  
20      that they should feel free to give me a call if they're  
21      not doing well. That's just part of what I do.

22      Q     Is that the standard that you have always  
23      practiced, whether you're in an emergency room setting,  
24      or in a private practice setting?

25      A     I tell them to call the emergency room. If I'm in

1 an emergency room setting, I generally wouldn't give  
2 them my home phone number, for instance, but I would let  
3 them know that they should feel free to give a call to  
4 somebody if things aren't going well.

5 Q The second paragraph on the second page of your  
6 report, indicates that the child was found dead in her  
7 crib at 7:30 the next morning. And actually, that  
8 isn't--that's the wrong date, isn't it, 10-11-86.  
9 Simply, it's 11-11-86, isn't it?

10 A If you say so, sir.

11 Q It's a typographical error.

12 A Okay.

13 Q My question is whether or not you have any other  
14 information at your disposal today upon which some of  
15 your opinions are based, about any other facts  
16 subsequent to the child's discharge from the hospital,  
17 11:00 at night, and her death the next morning at 7:30  
18 a.m.

19 A You mean do I know anything more about it?

20 Q Do you have any more information in your brain  
21 about this case?

22 A I didn't when I wrote my opinion, but Dr. Owen told  
23 me that the mom was up this morning, and told me that  
24 the mom was up with the child. I guess the last time was  
25 at 1:30 in the morning, and then she found the child

1 cold in bed at 6:00 a.m.

2 Q And do you have any other facts at your disposal  
3 today, upon which some of your opinions might be based,  
4 concerning the period of time from 11:00 at night to  
5 7:30 the next morning?

6 A Other than my review of the literature, no.

7 Q Taking your third paragraph on page 2, addressing  
8 first of all the question of whether this child could  
9 have survived, you cite a Nigerian study. It's your  
10 footnote number 1 in your references. Is it fair for me  
11 to understand that from this Nigerian study, that if a  
12 child presents with meningocrosemia, they have a ninety-  
13 four percent (94%) chance of surviving, absent coma or  
14 shock at the time of presenting, for medical care?

15 A In this study, that was the result, yes.

16 Q So I guess my simple question is, had this child  
17 been--had Orlean Grant been diagnosed as having  
18 meningocrosemia, before 11:00 at night, on November  
19 10th, 1986, do you have an opinion, based upon  
20 reasonable--based upon a reasonable degree of medical  
21 certainty, as to whether or not she would have survived,  
22 based upon your experience, training, and review of the  
23 literature?

24 BY DR. IRWIN: Objection.

25 Q You may answer.



1       A     It's very difficult to tell, because it seems,  
2       there are several populations of kids with  
3       meningococccemia, and it seems like there is one group  
4       that presents, without meningitis, who presents with a  
5       very fulmanent course, and whether those kids can be  
6       helped, and at what point they can be helped is  
7       difficult to determine from reviewing the literature. It  
8       might be that these ninety-four percent (94%) of  
9       children who live, presenting without coma or shock, may  
10      have had a different illness, and the spectrum of  
11      meningococccemia is incredibly broad. Some people walk  
12      around with meningococccemia for days, and do just fine.  
13      They come in and get some antibiotics, and are well.  
14      There is an entity called chronic meningococccemia, where  
15      you can have it for days, and even weeks. And then there  
16      seems--the other extreme, there seems to be people that  
17      present with any overwhelming course, and die almost  
18      instantly, regardless of what you do. So I'm not sure  
19      that--I don't know the answer to that question. I don't  
20      know if there is an overwhelming medical likelihood, in  
21      a child who is eventually going to have an overwhelming  
22      course, if you treat them at a given point in their  
23      illness, that they are more likely to survive. Certainly  
24      if you had started treating days before, I think you  
25      would have a very good chance. But if you...

1 Q Let me see if I can focus this question a little  
2 more carefully for you. We know that Orlean Grant, this  
3 seven month old minor child had no coma and no shock  
4 when she presented at 8:00 at night at Mt. Sinai Medical  
5 Center, is that correct?

6 A That's correct.

7 Q Is there anything in your review of this case, from  
8 any of the information you have, that indicates that she  
9 was one of these chronic--that she was a patient with  
10 the kind of chronic meningococcemia that you talked  
11 about earlier?

12 A No, I think she had an acute fulminating course.  
13 It's just a matter of whether that course could have  
14 been interrupted by earlier intervention, and that's the  
15 question I don't know the answer to.

16 Q Is it significant in making that statement that you  
17 don't know the answer, that this child responded to  
18 Tylenol from one hundred and five point four (105.4)  
19 degrees, and one hundred and sixty (160) milligrams  
20 later of Tylenol, went down to one hundred (100)  
21 degrees. Is that a relevant probative fact in  
22 determining whether or not she could have survived,  
23 given prompt therapy for a diagnosis of meningococcemia.

24 A Given what literature is available, I don't think  
25 that that really has much relevance, given the fact

1 that, as I've said, there has been this recent  
2 information, that if you give Tylenol, and the fever  
3 responds, it doesn't have any correlation with the  
4 severity of the illness.

5 Q Is that fact that the chest x-ray was negative, or  
6 that the urinalysis was negative for specific gravity  
7 and PH content, or the fact that she took the dextrose  
8 solution well, are any of those facts relevant to  
9 determining whether or not she would have survived,  
10 given prompt therapy for a diagnosis of meningococemia.

11 A I think generally speaking a child, the urinalysis  
12 and chest x-ray probably don't help you much. I think  
13 the fact that she took things well and acted well is  
14 generally an indication that the child doesn't have a  
15 serious infection. But obviously in this case, that was  
16 misleading, at least from what is known to me. I think  
17 that the fact that she looked so well initially is  
18 suggestive that she perhaps might have not--it suggested  
19 that she was not overwhelmingly sick when she came in. I  
20 think that's true. It's reassuring that she--it would be  
21 reassuring to me that she didn't have a serious illness  
22 at all at the time she came in, which was obviously  
23 falsely reassuring.

24 Q Are you clear that she had meningococemia in the  
25 emergency room that night, based on the fact she died

1 the next morning, or the coroner's report, or anything  
2 else?

3 A I'm not even clear if she had meningococcemia after  
4 reading the coroner's report, because I didn't see any  
5 cultures in the coroner's report. All I saw was evidence  
6 of Waterhouse Friderichsen syndrome, which is suggestive  
7 of, but by no means diagnostic of meningococcemia. There  
8 are other--basically any other infection can cause the  
9 exact same picture.

10 Q You're not even sure this is a meningococcal  
11 related death, is that your testimony?

12 A From what I--the information that was available to  
13 me is very suggestive of a meningococally related death.  
14 But it's not diagnostic of that.

15 Q Remember what lawyers have to present in court,  
16 reasonable medical certainty, and I don't know that  
17 that's always equal to a physician's use of the term  
18 diagnostic.

19 A I was just surprised they didn't have--I don't know  
20 if I just didn't get the culture reports, or they didn't  
21 do cultures, but what I received had no blood cultures.  
22 There were no cultures from anywhere that grew  
23 meningococcus, and this could have been another bacteria  
24 that caused this. I don't know if I can give you  
25 numbers. There is probably a twenty percent (20%) chance

1       it was Haemophilus influenza type B, for instance.

2       Q     Is that the H flu, Haemophilus?

3       A     That's right. And there is a chance it was--and

4       again, that number is based on one study that I have

5       read. Overwhelming sepsis with Waterhouse Fridericksen

6       syndrome. Most of the time it is meningococcus.

7       Q     But not necessarily so?

8       A     But not necessarily so.

9       Q     It could be the Haemophilus flu?

10      A     It could be any bacteria, and some viral illnesses.

11      Anything that makes you overwhelmingly sick. The reason

12      your adrenals get shocky is they have a--if you're in

13      shock, your adrenals have a relatively precarious blood

14      supply, compared to other parts of your body, and so if

15      you're not profusing--if you're not getting blood to all

16      the parts of your body, because you're in shock, your

17      adrenals can be damaged by a variety of organisms.

18      That's why...

19      A     So it could have been the Haemophilus flu, and you

20      think that there is a twenty percent (10%) chance that

21      absent blood cultures from the coroner to the contrary,

22      there is a twenty percent (20%) chance it could be

23      Haemophilus flu?

24      A     Or thereabouts. I mean obviously, I don't know the

25      exact number. I think it's a substantial minority of the

1 cases.

2 Q What other bacteria could have been present in this  
3 child to cause the septicemia?

4 A You could name any one. I mean pneumonococcus is  
5 probably the third most likely possibility. I think if  
6 you go much beyond those three, you're stretching it,  
7 though, especially the end.

8 Q So the one most likely is?

9 A Is meningococcus.

10 Q Is a meningococcus?

11 A And I think Haemophilus influenzae type B is  
12 probably second-most likely.

13 Q Thirdly would be?

14 A Pneumococcus, but again, the relevant proportions  
15 of those, I can't be sure of. I thought it was  
16 standard--I shouldn't--we're not asking about the  
17 coroner, but I thought it was standard procedure to get  
18 blood cultures in a child you thought died of sepsis,  
19 but I didn't--I'm not an expert in pathology. I didn't  
20 see gram stains done on any of the organs either. You  
21 can look for the bacteria with special stains in the  
22 various organ systems, and that wasn't done either, as  
23 best I can tell. There were a lot of things they could  
24 have done to try and determine whether this was  
25 meningococcus. I don't know if you wanted all the things

1       they could have done. Again, they weren't done, so I'm  
2       not sure it's important.

3       Q     Let's go back to the survivability issue. Thank you  
4       very much, by the way.

5               BY MR. GOLDENSE: Can I amend my  
6       pleadings to bring the county into this  
7       case?

8               BY DR. IRWIN: Feel free.

9               BY MR. GOLDENSE: An interesting issue.

10      Q     You conclude, at the end of that paragraph, it is  
11      reasonable to assume an early admission, with prompt  
12      antibiotic treatment would have possibly been helpful.

13      A     I'm sure it could have possibly been helpful.

14      Q     And you can offer no more opinion than a  
15      possibility. Your opinion cannot reach the level of a  
16      probability with respect to this child's survival, with  
17      prompt antibiotic treatment, is that correct?

18      A     I couldn't go to probability. I'm just not sure.

19      Q     And then you begin to offer some opinions about  
20      your notion, your opinions about standard medical  
21      practice, and this child. So taking those opinions in  
22      order, it is my understanding that it is your opinion  
23      that antibiotic treatment for this child was not  
24      indicated, absent one of two factors. One, localizing  
25      the source of infection, or two, positive lab studies,

1 correct?

2 A Or possibly severe toxicity.

3 Q I'm sorry, of course. And toxicity is again, this

4 observation...

5 A Subjective.

6 Q ...judgment made by the examining physician?

7 A That's correct.

8 Q So antibiotic treatment, which may or may not have

9 even saved the child, according to your testimony, would

10 have been indicated under one of three sets of

11 circumstances, is that correct? The toxicity level

12 observed by the clinician, one.

13 A That's right.

14 Q And two, some positive laboratory tests, or...

15 A That's right.

16 Q Or three--those are the two things.

17 A Those are the main things.

18 BY DR. IRWIN: Keep going. Define

19 bacterial sources.

20 BY MR. GOLDENSE: You're right.

21 A With laboratory tests.

22 Q Which again would be part of the analysis of your

23 examination, if you saw the throat, or you saw

24 something...

25 A Otitis media, or something like that.



1 Q So if in the process of conducting an examination,  
2 you can focalize visually the source of infection to  
3 account for the fever, or two, you do a lab study that  
4 helps you define where the infection is located?  
5 A That's right.  
6 Q Or three, you make this judgment from your clinical  
7 contact with the child. Those are three circumstances  
8 that would generally lead you to instituting antibiotic  
9 therapy, is that right?  
10 A That's correct.  
11 Q We know that in this case, the doctors have offered  
12 their evidence as to what this child appeared to be, and  
13 it is your understanding from the testimony of the  
14 physicians and a review of the records, that the child  
15 appeared well, certainly by the time of her discharge?  
16 A That's my understanding.  
17 Q And in that you're relying on the records, and  
18 you're relying on what your understanding of prior  
19 testimony in the case is?  
20 A That's correct.  
21 Q So it's clear that that's what you relied on?  
22 A Yes.  
23 Q Now the only lab studies that were done in this  
24 case were chest x-ray and urinalysis?  
25 A That's correct.

1 Q Would you, examining this child...

2 BY DR. IRWIN: Let me just interrupt and

3 clarify. There was a hematocrit, if I'm

4 correct.

5 A Okay. That was October 17th.

6 BY MR. GOLDENSE: That was a month

7 before, John.

8 BY DR. IRWIN: I stand corrected.

9 Q For the record, the only lab studies that were done

10 on this child, on the evening of November 10th, 1986,

11 were urinalysis and chest x-ray, correct?

12 A That's correct.

13 Q Would you have ordered a blood test for this child,

14 a CBC?

15 BY DR. IRWIN: Objection, go ahead.

16 Q At the point when decisions about what studies were

17 going to be done, would you have ordered one?

18 A Yes.

19 Q Why?

20 A Because it may give me further indication as to the

21 possible severity of the illness that the child had, and

22 it may help me with management of the child.

23 Q It's a standard of care order blood test on this

24 history and this exam, isn't it?

25 BY DR. IRWIN: Objection.

1 A Standard of care where? In an academic center...

2 Q No, at an urban hospital, with a child who you have  
3 never had any contact with before. I mean we're talking  
4 about a resident who has never seen this child before.  
5 This isn't a private pediatric office. I'm talking about  
6 an urban hospital. It's the standard of care in this  
7 setting, at Mt. Sinai, to order a blood test for this  
8 child, isn't it?

9 BY DR. IRWIN: Objection.

10 A I think in an urban academic center, it would be,  
11 yes.

12 Q Why are you using the word academic as a qualifier?

13 A Because what "town and gown" does, what they do in  
14 a university setting, versus what is done in the  
15 community in a community hospital is oftentimes  
16 dramatically different. I'm not saying it's right or  
17 wrong. It's just the way medicine is practiced. In a  
18 community setting, people are much less likely to get  
19 any laboratory evaluation on a febrile child, and again  
20 I'm not saying that's the right thing to do. And in a  
21 university or an academic center, the likelihood is much  
22 greater that you would go ahead and get blood work.

23 Q And is Mt. Sinai qualified as an academic center,  
24 and this qualification that you have placed upon the  
25 standard of care?

1       A     It's kind of in between. It's not a full-fledged  
2       academic center, but it's not your run of the mill  
3       community hospital. I think it's someplace in between  
4       there.

5       Q     The standard--I want you to apply the standard of  
6       care, as you understand it, through your training and  
7       experience, to the emergency room staff at Mt. Sinai  
8       Hospital, and tell me whether or not a blood test being  
9       ordered for this child was within that standard of care.

10      A     By standard--you see, I'm not sure I understand  
11     standard of care in this context. If you took one  
12     hundred (100) emergency room visits to hospital similar  
13     to Mt. Sinai, do I think that most people would have  
14     gotten blood tests?

15      Q     Yes.

16      Q     I think probably the majority would have, but I  
17     think a substantial minority would not have. And I think  
18     that if you went to a community hospital, it would be  
19     probably a community out in the suburbs, there is a good  
20     chance that perhaps even the majority would not have  
21     gotten it, and the minority would have. If you go to the  
22     university setting, I would hope that just about  
23     everybody would get a blood test. So there is a whole  
24     different way of approaching it. It has been fairly well  
25     documented in the literature, as far as who does what in

1 a given situation.

2 Q But you have testified that you would have ordered  
3 a blood test to help you reach a diagnosis in this  
4 case?

5 A Yes. In an emergency room setting, with a child I  
6 haven't seen before with one hundred and five point four  
7 (105.4) temperature, and seven months, I would have  
8 ordered a blood test.

9 Q Did I give you a copy of the article that is now  
10 cited several times in your report, "The Factors in the  
11 Prognosis of Meningococcal Infection," authored by Dr.  
12 Stiehm, S-T-I-E-H-M?

13 A Yes, you did.

14 Q And Damrosch, D-A-M-R-O-S-C-H. You have cited this  
15 article now, on the second page of your report, first  
16 for the proposition that even had tests, in this case  
17 blood tests, been performed in this child, the results  
18 most likely would have been unhelpful, and in fact  
19 falsely reassuring, and you cite this research?

20 A Yes, and I have some more articles to substantiate  
21 that.

22 Q Good. Let's just stay with this, because you have  
23 that in front of you now. Where in this article do you  
24 find authority for the proposition that the results  
25 would have been unhelpful, and in fact, the results most

1       likely would have been unhelpful, and in fact, falsely  
2       reassuring?

3       A       I'm going to have to go through it. I haven't  
4       looked at this for awhile.

5       Q       Let me help you. Turn to page 460, Table 6.

6       A       Thank you.

7       Q       I'm guessing, Doctor, but does Table 6 contain some  
8       of the data upon which you relied to reach that  
9       conclusion about false reassurance and unhelpfulness?

10      A       That's correct.

11      Q       Do you agree that this data was collected from 1947  
12      to 1962? Look at the first page, first line, in the  
13      synopsis up at the top.

14      A       Yes, I do.

15      Q       Does the fact that the data was a minimum of  
16      thirty-nine (39) years old--a minimum of twenty-four  
17      (24) years old, and a maximum of thirty-nine (39) years  
18      old, and sthat this was a 1966 article change your view  
19      about the results of the blood tests for this child in  
20      1986 at Mt. Sinai, had they been taken?

21      A       I don't think it makes much difference in this  
22      case.

23      Q       The changes in...

24      A       Normal blood counts really have not been  
25      significant. That's an evolutionary thing. I'm sure they

1 have changed over thirty (30) years.

2 Q And the accuracy of the testing hasn't changed over  
3 the years?

4 A No.

5 Q So there has been nothing significant changing to  
6 make this data outdated?

7 A I'm not familiar with how they did white blood cell  
8 counts, but it's not a very complicated test. I assume  
9 they did it very accurately thirty (30) years ago.

10 Q Now you said you had some other research that would  
11 tend to support your conclusion that even had these  
12 tests--and by these tests, a battery of blood tests,  
13 that they would have been unhelpful and falsely  
14 reassuring. Do you have some other research at your  
15 disposal now upon which you base that opinion?

16 BY DR. IRWIN: I object to the form of  
17 the question. Mr. Goldense, you stated  
18 that a battery of blood tests, and I'm  
19 not sure that you have adequately  
20 defined what tests you are talking  
21 about.

22 BY MR. GOLDENSE: I'm sorry. I'll get to  
23 that, John. I'll clear that up.

24 A We're using the six articles.

25 Q Yes.

1 A Because here, there is another article about  
2 unsuspected meningococcemia.

3 BY DR. IRWIN: Here being number 6.

4 BY DR. MACKNIN: Number 6.

5 A That was the article Dr. Abram referred to in his  
6 expert testimony. And they also had a number of children  
7 with normal white blood cell counts in their study.

8 Q Is that the Sewski's piece?

9 A That's right, T.L. and Klein.

10 Q Have you done any other research, other than this  
11 article that you've just talked about, unsuspected  
12 meningococcemia, and the one that we have already talked  
13 about from Dr. Stiehm and Damrosch that supports your  
14 conclusion that a blood test, if performed, would have  
15 been falsely reassuring, and/or unhelpful?

16 A I'm trying to see if there are skin manifestations.  
17 I'm not sure. There is at least one more article. Here  
18 it is, "Skin Manifestations of Meningococcal Infection"  
19 by Warren H. Towles, James W. Bass, and all, and then  
20 there is another one from 19--here is one more article  
21 here that--here is "Prognostic Factors in Acute  
22 Meningococcemia," by Lewis. That's in Archives of  
23 Disease in Childhood, 1979, Volume 54, pages 44 to 48.

24 Q Is that also cited by the report from Dr. ...

25 A That's my reference number 1, and 1, 2, 3, 4, 5, 6,



1 prognostic factors article.

2 Q So it's your testimony, based upon...

3 A I'm not sure that is number one. I think in any  
4 event, this article here.

5 Q So here is my question, Dr. Macknin. Based upon  
6 these three articles, and the one that you cited in your  
7 original letter, this is this piece by Dr. Stiehm and  
8 Danrosch, it is your opinion, based upon a reasonable  
9 degree of medical certainty, that had a CBC been done  
10 the evening of November 10th, 1986, on this child, the  
11 results of this would have been unhelpful, or falsely  
12 reassured?

13 A There is a good chance that's true, yes.

14 Q Now remember our problem. We must have testimony on  
15 opinions based upon a reasonable degree of medical  
16 certainty. Now a good chance doesn't speak to me in  
17 language that I'm going to be able to use in court.

18 A In the majority of instances, that test would have  
19 been misleading, or falsely reassuring, yes.

20 Q In diagnosing whether, or helping to lead to a  
21 diagnosis, as to whether or not this child had  
22 meningococcemia, correct?

23 A That's right, or overwhelming alledged coccemia,  
24 that's right.

25 Q How about H flu? Haemophilus influenza that you

1 talked about earlier, as a possibility for the cause of  
2 this child's septicemia?

3 A It could have possibly--I don't know the exact  
4 numbers of H flu bacterium, as pneumococcal bacterium,  
5 as far as the white count. But I think that it probably  
6 would have been more helpful in suspecting an infection  
7 with H flu, or pneumococcus.

8 Q Blood tests would have been more helpful in  
9 reaching a diagnosis of H flu or pneumonacoccus, is that  
10 right?

11 A It would have been more helpful in suspecting that  
12 it might be a bacteremia of those organisms, because  
13 it's a little bit more likely that your blood count will  
14 be high with infections with those organisms, than it  
15 would be with meningococcus.

16 Q So what you're saying about meningococcus is,  
17 meningocossus is some strange breed of bacteria, and  
18 that's layman's terms, strange breed of bacteria, that  
19 doesn't necessarily relate to an elevated white blood  
20 cell count in a patient.

21 A All infections are like that, but meningococcus has  
22 more of a tendency to be like that. It's possible you  
23 have a normal or low blood cell count with any bacteria,  
24 but it's probably more likely that would happen with a  
25 meningococcal infection, than for instance, a

1 Haemophilus influenza type B or pneumococcus, strep  
2 pneumonia.

3 Q If it's known that meningococcus can be associated  
4 with low white cell count, isn't that an important  
5 fact?

6 A If the white blood cell count was low, that would  
7 also have been helpful. If it was lower than average, or  
8 higher than average, it would be helpful in suspecting a  
9 possible bacterial infection. If it's normal, that's  
10 when it's not helpful, and unfortunately with  
11 meningococcus, the majority of cases in an meningococcal  
12 infection, as cited in the articles you looked at, had  
13 normal white blood cell counts.

14 Q It is your testimony that this research discloses  
15 that the majority of white blood cell counts in  
16 meningococcal infection cases are normal, with respect  
17 to the white blood cell count?

18 A I would have to go over the exact numbers again,  
19 but for instance, the table that you referred me to, in  
20 Stiehm's article, if I can find that now, if you look at  
21 this table here, 314.

22 Q Stiehm's article.

23 A But on page 460 of Stiehm's article, if you look at  
24 the patients who died, there were twelve (12) patients,  
25 total who died, and ten (10) of them had white cell

1 counts between five and fifteen thousand, which are  
2 numbers that you would normally be reassured by. So ten  
3 (10) out of those twelve (12) had normal white blood  
4 cell counts. One had a white blood cell count that  
5 wasn't recorded, and one was less than five thousand  
6 (5,000), so none of them were high. So it's ten (10) out  
7 of eleven (11) that had white blood counts who died, had  
8 normal white blood cell counts.

9 Q Then how does the poor physician ever diagnose  
10 meningococcemia?

11 A I agree with you.

12 Q How is it ever diagnosed?

13 A It's oftentimes in retrospect. It's a very  
14 difficult diagnosis to make prospectively. It's an  
15 incredibly difficult diagnosis to make prospectively,  
16 and it's one of the banes of our existence.

17 Q What was the ratio of death in the cases in the  
18 Stiehm and Danrosch piece, in their research? This  
19 again, not hidden ball trick. It's right at the top of  
20 the summary.

21 A Nineteen percent (19%) case fatality rate. As I  
22 say, I think there are several different manifestations  
23 of meningococcus, and I think what it again suggests is  
24 that there are a group of patients that are very  
25 difficult to treat.

1 Q Have you lost any of the five meningococcal  
2 patients you recall diagnosing in your experience?

3 A There was one I was associated with, I really  
4 wasn't the immediate doctor. The case that stands out  
5 most vividly is a case we had in case management  
6 conferences at Boston Children's. We talked about it,  
7 where a child came in looking--really looking very well,  
8 and had a high fever, and we were debating what we  
9 should do with the child, and the child started to have  
10 skin manifestations of a potentially serious infection.  
11 It turned out to be meningococcus, where there were  
12 petechiae, purple spots underneath his skin, in front of  
13 our eyes in the emergency room, and we tried to  
14 immediately institute therapy at that time, and the  
15 child was in our emergency room when these  
16 manifestations appeared, and the child died within a  
17 matter of an hour. We were doing everything we could.  
18 Everybody was there, and it just happened so fast that  
19 there was nothing that could be done.

20 Q Somewhere you have read in this research that the  
21 onset of petechiae is one of the real poor prognostic  
22 signs for...

23 A Especially a lot of purpura. If you have large  
24 blotches of extravasated blood, blood that gets out of  
25 the blood vessels, you have leaky blood vessels, which

1 is what petechiae and purpura mean.

2 Q And of course, if we haven't made it clear, there  
3 were no petechiae present in this child, either in the  
4 emergency room, according to the record that you have,  
5 or pursuant--would they have been found in the autopsy?

6 A Yes, it's something that shouldn't have gone away.  
7 That's what petechiae represent are kind of blood that  
8 leaks out of the blood vessels.

9 Q And that wasn't found in the autopsy here, was it?

10 A No, it wasn't. It would have been a helpful clue if  
11 it was present.

12 Q I'm not sure I got the answer to that. You said  
13 that meningococcal infection is one of the banes of your  
14 existence, and it's hard to diagnose, right?

15 A And it's oftentimes retrospective. I mean it's very  
16 difficult to make a prospective diagnosis of  
17 meningococcal infection.

18 Q So if lamp studies are falsely reassuring or  
19 unhelpful, for meningococcal infection, are you left  
20 with anything other than the trained eye of the  
21 clinician examining the child? In this case a child, to  
22 have reached the diagnosis of meningococcal infections?

23 A There are other labs, and there are lab studies  
24 that unfortunately generally aren't available  
25 immediately.

1 Q And that's the blood culture which takes twenty-  
2 four (24) hours.

3 A The blood culture, and there is also an antigen  
4 detection test that most places wouldn't have available  
5 on an emergency basis at night.

6 Q But you wouldn't reach the question of...

7 A You wouldn't send that off, because you wouldn't  
8 worry about it. You wouldn't think about it, so you  
9 wouldn't send it off.

10 Q Unless you had already figured out that this was  
11 probably a meningococcal infection?

12 A That's right, unless it was part of your  
13 differential.

14 Q And you would reach that process through the  
15 training of your eye in observing the child?

16 A That's right.

17 Q If we note that the CBC had been done.

18 A If all the lab tests happened to come back normal.  
19 As I said, if the lab, for instance, the white blood  
20 cell count was very low, that would be helpful. But as  
21 pointed out in the study you referred me back to, only  
22 one out of eleven was low, and the other ten (10) were  
23 essentially normal, between five (5,000) and fifteen  
24 thousand (15,000).

25 Q Are there any other factors that we haven't--let me

1 suggest a few and see if you think any of these factors  
2 might be relevant considerations in the analysis  
3 position charged with trying to reach the diagnosis. The  
4 race of the child. The fact that this was a black  
5 female, age seven months. Is that a relevant factor in  
6 any significant way, in the care and management of the  
7 child?

8 A I think males are a little bit more likely to have  
9 serious illnesses than females.

10 Q In the black population, I mean.

11 A In any, in black or white. So being a male is a  
12 relevant risk factor in getting seriously ill. It used  
13 to be thought that bacteremias were an illness of the  
14 lower class, and that's why the University Hospitals  
15 were reporting it out, because they were doing all their  
16 studies in emergency room settings in inner cities, and  
17 subsequently they have done studies, looking at  
18 unsuspected bacteremia. Again, there aren't enough  
19 meningococcal cases that you can get a precise feel for  
20 meningococcus, but just bacteremias in general seem to  
21 be just as prevalent in the suburban population as they  
22 are in an inner city black population in kids, in kids  
23 who present with fevers. So until the last four or five  
24 years, people would have said that the fact that this  
25 child was an inner city black with a high fever would



1 have put the child at increased risk, but in fact,  
2 that's probably not the case. It's just that nobody ever  
3 bothered to look in the suburban populations. Because I  
4 said, in the private office, people don't do these  
5 tests, routinely looking for bacteria, or looking at  
6 white counts oftentimes.

7 Q Isn't that also kind of a socioeconomic factor,  
8 that a suburban population might be more likely to have  
9 a private pediatrician, rather than emergency room  
10 care?

11 A That's right. The standard of care in a private  
12 office is to probably get less labs than you would in an  
13 emergency room setting.

14 Q By virtue of the fact that there is an ongoing  
15 relationship between the physician and the patient, just  
16 what you personally have sought, right, in your own  
17 course?

18 A To a great extent, yes.

19 Q It's a lot easier to work if Mrs. Jones brings in  
20 Johnny, and you have seen him from age three weeks, to  
21 age five years, than it is to see one child one time, a  
22 "shot in the dark" if you will?

23 A That's right.

24 Q Do you have any information at your disposal today  
25 about the degree of pediatric experience and/or training

1       that the two physicians at Mt. Sinai had on the evening  
2       of November 10th, 1986, when they examined this child?

3       A     No, I don't.

4       Q     Assume for purposes my question, that evidence  
5       already in this case about their background indicates  
6       that neither one of them had a focus anywhere near like  
7       yours in the area of pediatrics. I'm sure that's  
8       unobjectionable. And I'm sure it's also unobjectionable  
9       to say that neither one of them had ever considered  
10      pediatrics as a career, prior to November 10th of  
11      1986. Okay?

12      A     Yes.

13      Q     And assume that both of them had substantial  
14      emergency room experience. No. Assume that Dr. Baum, the  
15      attending, had three and a half years of solid emergency  
16      room experience as an attending, at Mt. Sinai, and that  
17      Dr. Rosenfeld was in the middle of his second year of a  
18      residency program. Would that level of training cause  
19      you to think that the standard of care might have called  
20      for a pediatric consultation that evening, based on the  
21      records that you have seen here?

22      A     No.

23      Q     What kind of facts would you look for, in this  
24      case, to cause a pediatric consultation to have been  
25      indicated for this child by the emergency room staff?

1 A As we discussed, I think that the clinical  
2 appearance of the child, if the child looked toxic,  
3 looked severely ill. Again, that's variously defined by  
4 making eye contact, social responsiveness, smile,  
5 playfulness, eating is all part of that. If that were  
6 compromised, I think it would have been helpful to have  
7 a pediatrician take a look at the child.

8 Q So then you rely on all of the clinical  
9 observations that you have been told concerning the  
10 child's playfulness and social response?

11 A If there were petechiae or meningismus, if there  
12 was a stiff neck. If there were those physical findings,  
13 but again, I'm relying that those were not present.

14 Q And you're also relying that the observations made  
15 about the child taking the dextrose solution well are in  
16 fact correct?

17 A That's correct.

18 Q Suppose testimony in this case were to the effect  
19 that one about--I'm sorry. Do you know how many ounces  
20 there were in these dextrose bottles, that sugar water  
21 that is...

22 A It depends on which bottle it is.

23 Q Four to six ounce bottle.

24 A Usually four to six.

25 Q Suppose the testimony in this case was that instead

1 of taking two bottles well, it took the child an hour  
2 and a half to two hours to drink one, four to six ounce  
3 bottle of dextrose? Would that be a significant fact to  
4 you?

5 BY DR. IRWIN: Objection.

6 Q Suppose that is the testimony in the case.

7 A That would be one more piece of the puzzle that  
8 would make me less assured that the child was, in fact  
9 well, or acting well, I mean if it took that long to  
10 take the bottle, that's obviously longer than an infant  
11 who is healthy would normally take to dispose of a four  
12 to six ounce bottle of sugar water.

13 Q A well-appearing infant would take how long to  
14 dispose of a four to six ounce bottle of sugar water?

15 A Not long. Ten (10) minutes.

16 Q My daughter, probably about three or four minutes.  
17 But the average child what? Maybe ten (10), fifteen  
18 (15), twenty (20) minutes at the outside?

19 A That's right. I don't know if you have ever tasted  
20 dextrose water. It's not sweet enough to be really be  
21 sweet.

22 Q What's the water that you give kids, and it's  
23 loaded up with the electrolytes?

24 A PediolYTE, or Lytrin.

25 O Now does that taste like dextrose water? Is that...

1 A None of it tastes very good. It's a good thing the  
2 kids aren't real discriminating.

3 Q I have tried PediolYTE. How about an admission for  
4 this child for observation that night? Is there anything  
5 in this record that causes you to conclude that this  
6 child should have been admitted for observation the  
7 evening of November 10th, 1986?

8 A No.

9 Q What factors would you need to see in this record  
10 to determine that this child needed to be admitted that  
11 night?

12 A Again, go to history, looking for signs. Signs of  
13 toxicity, physical examinations, or signs that the child  
14 was significantly ill, and looking for the meningeal  
15 signs. If I saw petechiae, I would have admitted this  
16 child. If the child was inconsolable, and appeared sick  
17 to me, and I couldn't get them to respond to me, like I  
18 would expect a relatively nonsick seven month old to  
19 respond, I would have considered admitting the child, if  
20 I got laboratory studies back, such as if I got a very  
21 low white blood count back, I probably would have  
22 admitted the child. If I got a high white blood cell  
23 count back, it depends again how sick the child would  
24 have looked, whether or not I would have admitted the  
25 child. I probably might have expectantly put the child

1 on antibiotics if the white count was high, but I doubt  
2 that I would have admitted the child if I thought the  
3 child wasn't sick. I would have done that without any  
4 justification in the literature to do that. And I can  
5 explain that if you want.

6 Q Please, explain it to me.

7 A It's very debatable whether giving kids antibiotics  
8 expectantly on an outpatient basis does anything at all  
9 in preventing serious illness. There is no good  
10 documentation in the literature, and I cited some  
11 articles in my letters to Dr. Irwin, that nobody has  
12 ever proven it helps. But I think they can sense that  
13 you kind of use your own judgment, and decide if you  
14 want to give them. I think he has to know full well that  
15 there is no evidence that it definitely will prevent any  
16 serious illness. If the kids look better when they come  
17 back, they are less likely to have the bacteria, for  
18 instance, still in their blood, if you put them on oral  
19 antibiotics. But as far as preventing death or  
20 meningitis, there is no evidence at all that you do  
21 anything to prevent that with oral antibiotics.

22 Q So if you don't administer them orally, your only  
23 option is to do it intravenously. Is there a difference  
24 there. So if you don't administer them orally, your only  
25 option is to do it intravenously. Is there a difference

1       there? Is that what you're intimating?

2       A       There might be. Again, nobody has done--those  
3       studies are in progress now, because there happens to be  
4       a new pereneral drug that you can administer one today  
5       that's just become available recently, something called  
6       Ceftriaxona. So those studies are underway. Wherein in  
7       kids you might suspect have an infection, but you decide  
8       you don't want to admit into the hospital, you can give  
9       them the same medication you would as if they were in  
10      the hospital, because it's a once a day medication. So  
11      you can give them an intramuscular injection, or an  
12      intravenous injection in the emergency room setting, and  
13      again those studies are underway, so it's unclear  
14      whether that's going to help at all or not. With  
15      pereneral administration, IM or IV, you get better  
16      level of antibiotics.

17      Q       What's the name of the drug?

18      A       It's Ceftriaxona.

19      Q       Spell that for me, please.

20      A       C-E-F-T-R-I-A-X-O-N-A. That, by no means, is  
21      standard of care. That's very much in the experimental  
22      stage right now.

23      Q       Was Certriaxona available to the emergency room  
24      staff at Mt. Sinai Medical Center in November of 1986?

25      A       I don't think so. I don't think it was approved for

1 use in pediatrics at that time, but again, I'm not  
2 certain. I'm pretty sure it was investigational at that  
3 time. I'm absolutely certain it wasn't standard of care.  
4 Because it's still not. I don't know whether it would  
5 have made any difference, as we discussed. This is  
6 purely speculative.

7 Q You only said possibly.

8 A That's right.

9 Q And you were not willing to say that prompt  
10 institution of antibiotic therapy for this child would  
11 have definite--would have, with a reasonable degree of  
12 medical probability, saved her life. You're not prepared  
13 to make that statement?

14 A That's correct.

15 Q I guess what I don't understand at this point is  
16 how the medical community saves a child with a  
17 meningococcal infection. I mean how does some live and  
18 some die. Is it pure chance?

19 A I think a lot of it has to do with what kind of  
20 illness with meningococcus children have. I think the  
21 children that have the overwhelming infections, without  
22 meningitis, are going to die in the majority of  
23 instances, regardless of what you do. And I think that  
24 the kids who have them, for some reason that I don't  
25 understand, some kids seem to have a more indolent



1 course. They don't have this...

2 Q Indolent, I'm sorry. What is indolent?

3 A Not overwhelming. It just kind of goes along. For  
4 instance, a child who gets meningitis, there are some  
5 people--meningitis is one of the worst illnesses in  
6 childhood. With a meningococcal infection, which is  
7 meningitis being an inflammation of the meninges, an  
8 infection around the brain. With a meningococcal  
9 infection, it's the only infection that I know of that  
10 it's a good prognostic time to have meningitis.

11 Q Because you can reach a diagnosis more easily?

12 A You can reach the diagnosis. One presumption that  
13 some folks have, and again, it's speculative, that the  
14 reason the kids with meningitis do better is that they  
15 have seeded their meninges from an infection in the  
16 bloodstream, but there is an infection in the  
17 bloodstream.

18 Q I'm sorry. They seeded?

19 A The meninges get infected probably via the  
20 bloodstream. The blood goes everywhere in your body, and  
21 probably the blood carries the germ to the meninges, and  
22 that's where the meninges get the infection, and that's  
23 how the germ starts growing there. And presumably, the  
24 kids that get meningitis, there is something about their  
25 illness that allowed them not to die from the infection

1 being in their bloodstream, not to die immediately. It  
2 seems like there is a group of kids, they get the  
3 infection in their bloodstream, and a lot of them will  
4 die regardless of what you do, and it seems like there  
5 are kids that can fight that infection to allow it, if  
6 it stood around long enough, that it can actually go to  
7 their meningi. Again, that's speculative. Nobody knows  
8 if it's true. But those kinds probably have a better  
9 chance, because there is something about their initial  
10 infection that didn't kill them immediately. And again,  
11 nobody knows why, but there just seems to be a couple of  
12 groups of kids. There are some that just crash, and  
13 there is not much you can do about it, and there are  
14 others that give you more of a clue, and they give you a  
15 chance to make a diagnosis. The kids with meningitis,  
16 for instance, if you do a lumbar puncture on them, there  
17 is evidence of meningitis in the lumbar puncture, and  
18 you know those children have to come into the hospital  
19 and be treated. But whether they have a different  
20 illness. It's the same germ. There is something about  
21 the way the host, the child responds to the germ that's  
22 dramatically different in different children.

23 Q Can you tell which of these two classes of children  
24 Orlean Grant was in, from the records that you have and  
25 the information that you have?

1 A It appears to me that Orlean Grant had an  
2 overwhelming sepsis, and the reason I say that, at least  
3 from the information available to me, at 11:00, Orlean  
4 Grant looked great, and at 6:00...

5 Q Now do you mean to say great?

6 A That's the understanding that I have. That the  
7 child was...

8 Q If that's what you mean to say. If that's what you  
9 mean to say, I just wanted to make sure.

10 A The understanding I have, the child took a bottle  
11 well, was smiling and responsive to the mother at that  
12 time, and then by 6:00, the child was dead, with no  
13 evidence of meningitis.

14 Q 6:00 a.m.?

15 A That's right.

16 Q And it's logical to you, you don't have trouble  
17 with the notion that this septacemia could have arisen  
18 subsequent to her discharge and killed her within seven  
19 hours of being discharged from Mt. Sinai? That's not  
20 something that's logically silly to you?

21 A It's potentially such an overwhelming infection, as  
22 I related from that anecdote in the emergency room,  
23 where I wasn't directly involved.

24 Q The kid with the petechiae, but we don't have  
25 petechiae in this case, and you and I know that that's a

1 real poor prognostic sign, don't we?

2 A A few petechiae aren't really that bad. It's just  
3 if you have showers of them, it's a poor prognostic  
4 sign. But a few scattered ones probably are not.

5 Q So your review of this case leads you to conclude  
6 then, that this was a tragic death that couldn't have  
7 been avoided, Orlean Grant's?

8 A Couldn't have reasonably been avoided. Again, I  
9 don't know whether the antibiotics would have made a  
10 difference, but my review--I have no reason to think it  
11 probably would have.

12 Q And there is nothing in this record to suggest that  
13 there was a basis upon which antibiotic therapy would  
14 have been instituted, unless you just do it for  
15 everybody that comes in with a high fever.

16 A That's correct.

17 Q And you don't think that's good standard of care,  
18 do you?

19 A No, I don't.

20 Q You called it impaired use, I think.

21 A That's right.

22 Q And even with impaired use, your testimony is,  
23 you're not sure the child would have survived, and there  
24 is nothing in the record that would have led you to  
25 diagnose, or led you to order antibiotic therapy anyhow,

1 right?

2 A That's correct.

3 Q So in your opinion, this child was just one poor,  
4 doomed, tragic victim of life?

5 A I guess I would put it--that's right.

6 Q In no, way, shape, or manner does her exposure to  
7 the staff at Mt. Sinai Medical Center on the evening of  
8 November 10th have anything to do with the fact that she  
9 died at 7:30 the next morning?

10 A As best I can tell, unless they did a CBC, and  
11 unless it happened to come back very low. Then that's  
12 the vast minority of cases, it would have presented like  
13 this.

14 BY MR. GOLDENSE: Thank you. I'm going to  
15 order this written.

16 BY DR. IRWIN: Would you submit it to him  
17 for signature, to the doctor?

18 BY MR. GOLDENSE: Let the record reflect  
19 that there will be no waiver of  
20 signature. I would like you to  
21 transcribe it, please, and make  
22 arrangements with Dr. Macknin to have  
23 him read it before it becomes his  
24 testimony for this.

25 BY DR. IRWIN: I would appreciate it if

1 you would send it to the doctor. Do not  
2 ask him to come downtown, and I would  
3 also appreciate if we would have twenty-  
4 eight (28) days to read it, rather than  
5 seven.

6 BY MR. GOLDENSE: Twenty-eight (28)?

7 BY DR. IRWIN: That's right.

8 BY MR. GOLDENSE: Now wait a minute. I've  
9 got a deposition December 1st.

10 BY DR. IRWIN: How about fifteen (15)  
11 days?

12 BY MR. GOLDENSE: Fine. I mean I'm  
13 sensitive to the man's time.

14 BY DR. IRWIN: I appreciate that.

15 BY MR. GOLDENSE: If I didn't have the  
16 December 1st deposition, I wouldn't  
17 care. I would give you fifty (50) days.

18 (OFF THE RECORD)

19 BY MR. GOLDENSE: For the record, you  
20 have not chosen to waive your signature,  
21 which is perfectly your right, no  
22 problem. What will happen now at this  
23 point is, the court reporter will  
24 transcribe everything you have said  
25 today. There is a trust phenomenon at

1 work here, as to whether or not the  
2 court reporter who transcribes what is  
3 said today, does so accurately. If you  
4 think there is anything wrong in the way  
5 your words appear, you can make those  
6 changes right on the record that will be  
7 submitted to you. Now the fact that you  
8 make those changes can be pointed out to  
9 the jury if this case is tried, but you  
10 have an opportunity to approve your  
11 testimony before anyone can use it to  
12 impeach you when this case goes to  
13 trial.

14 BY DR. MACKNIN: That sounds awful, but  
15 okay.

16 BY MR. GOLDENSE: It's a right you have.

17 BY DR. MACKNIN: Okay.

18 BY MR. GOLDENSE: Anything else, Mr.  
19 Irwin, that you want to add to...

20 BY DR. IRWIN: Just clarify that he can  
21 modify his testimony, to correct any  
22 inaccuracies, or if he wishes, he can  
23 modify his testimony to clarify his  
24 testimony.

25 BY MR. GOLDENSE: Absolutely.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

BY DR. IRWIN: Not which make him  
susceptiable to cross-examination, but  
you have the right to change that if you  
like, for purposes of clarifying.

BY MR. GOLDENSE: I mean you can't change  
the substance of your testimony.

BY DR. MACKNIN: I understand, sir.

(END OF DEPOSITION)



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I have read the foregoing from page 1 through  
page 100 and note the following corrections:

PAGE	LINE	CORRECTION
------	------	------------


-----  
MICHAEL MACKNIN, M.D.


CERTIFICATE

The State of Ohio        ) ss  
County of Cuyahoga     \

I, MARC EPPLER, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the abovenamed MICHAEL MACKNIN, M.D. , was first duly sworn to testify the truth; that the testimony then given by him was tape recorded and reduced to writing; that the foregoing is a true and correct transcript of the testimony given by the witness as aforesaid, that said deposition was taken and that it was completed without adjournment; that I am not a relative or counsel of either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office in Cleveland, Ohio this \_\_\_\_16TH\_\_\_\_ day of \_\_\_\_NOVEMBER\_\_\_\_, A.D., 1988.

  
\_\_\_\_\_  
MARC EPPLER  
Notary Public  
State of Ohio  
My commission expires:  
10-4-93



# THE CLEVELAND CLINIC FOUNDATION

9500 Euclid Avenue Cleveland, Ohio 44106

A National Referral Center An International Health Resource

Michael L. Macknin, M.D.  
Head, Section of General Pediatrics  
216/4-445512

June 29, 1987

Defense Report

John R. Irwin, M.D.  
Reminger & Reminger Co., L.P.A.  
Attorneys at Law  
The 113 St. Clair Building  
Cleveland, Ohio 44114-1273

RE: Orlean Grant, dec'd v.  
Mt. Sinai Medical Center

Dear Dr. Irwin:

This letter is written to summarize my impressions on the appropriateness of the care given to Orlean Grant on 10/10/86 in the Mt. Sinai Hospital Emergency Room. Also, I will offer my impression, in view of the autopsy findings, whether or not anything could have reasonably been done differently which might have saved this child's life. I will first review the outlined history, then discuss the physical examination, laboratory evaluation, treatment of the child, subsequent clinical course, and autopsy findings.

The initial history as outlined in the chart does not provide a clear etiology of the patient's problems. Other than symptoms of "crying, a dry cough, poor appetite, vomiting after eating, and feeling warm," there are no other positive findings outlined in the history. This initial non-specific history is very common in young children with high fever.

The physical examination also offers no specific clues as to the etiology of the patient's illness. The child's general appearance is initially described as "crying." The initial fever was 105.4°F. After treatment with Tylenol 80 mg, the fever returned to normal (100°F rectally) and the baby was described as taking two bottles of D5W well. The child was then discharged from the Emergency Room.

Laboratory evaluation included a normal chest x-ray and urinalysis.

## Center for Children and Youth

### Pediatric & Adolescent Medical Specialties

Adolescent Medicine / Allergy and Immunology / Audiology / Cardiology / Dermatology / Endocrinology / Gastroenterology / Genetics /  
Hematology-Oncology / Intensive Care / Nephrology / Neurology / Pediatric Medicine / Psychiatry /  
Psychology / Radiation Therapy / Rheumatology / Speech & Language Pathology

### Pediatric & Adolescent Surgical Specialties

Cardiothoracic Surgery / Colorectal Surgery / Gynecology / Neurosurgery / Ophthalmology / Orthopaedic Surgery / Otolaryngology /  
Plastic Surgery / Sports Medicine / Surgery / Urology

Discharge instructions at 11:00 p.m. on 10/10/86 included encouraging fluids, 1/2 teaspoon of Tylenol every four hours, and the child was to have been seen the next morning in Pediatric Clinic.

The child was apparently found dead in her crib at 7:30 a.m. on 10/11/86. Autopsy revealed the cause of death to be "septicemia, meningococcal with adrenal hemorrhage, diffuse, bilateral (**Waterhouse-Friderichsen syndrome**) ."

The important question to answer in this case is was there anything that could have been done to prevent this child's death? If the child had been admitted and treated **immediately** upon presentation to the Emergency Room, the child might have lived. In a Nigerian study, 6% of children died who presented with **meningococcaemia** without coma, or shock. However, 93% died who presented with coma and shock (1). Therefore, it is reasonable to assume an early admission with prompt antibiotic treatment would have possibly been helpful.

However, there is no indication that standard medical practice would have resulted in this child being admitted to the hospital or being treated with antibiotics.

Possibly, the only clue to the potential severity of this child's illness would have been the subjective assessment that the infant appeared unusually **ill**. It is unclear to me from the Emergency Room notes if the child appeared "toxic" in the Emergency Room. Sometimes on the basis of toxicity alone, a skilled clinician **will** start antibiotic treatment (2). However, the pediatric literature generally contraindicates starting antibiotics without a defined bacterial source for an infection (3) unless a child is clinically severely **ill**, or possibly has laboratory tests which suggest possible severe illness (4,5).

Unfortunately, in this child's case of meningococcaemia, there were most likely no objective clues to the severity of the illness which could have reasonably been detected prior to the child's death. Sometimes petechiae offer a clue to the occurrence of meningococcaemia prior to death. However, the child did not have petechiae described in the Emergency Room or **at** autopsy. Many academicians would have performed complete blood counts, blood cultures and possibly sedimentation rates in a seven month old with a high fever (6). Most **community** practitioners would not routinely perform such tests (7), and they would proceed with these tests only in a severely toxic-appearing infant. Even had these tests been performed in this child, the results most likely would have been unhelpful and, in fact, falsely reassuring (8). In most serious bacterial infections, high white blood cell counts and sedimentation rates are the rule (9-14). However, in serious meningococcal infections, low or normal white blood cell counts and **sediementation** rates are most common (8). A normal lumbar puncture is generally reassuring that a child does not have meningitis. However, in meningococcaemia the absence of meningitis (as in this case) is a poor prognostic sign (8).

Even if a blood culture had been obtained the evening of 10/10/86, no results would have been available before the child died at 7:30 a.m. 10/11/86. The only test which might have detected meningococcaemia before the child's death was an antigen detection test on the urine or blood. However, there was no clear indication for performing this test in this child.

In summary, every reasonable laboratory test probably would not have offered a clue to the diagnosis in this child. Only a subjective determination that the infant was severely **ill** and the empiric use of antibiotics might have helped this child survive. However, I cannot definitely tell from the chart whether the child appeared severely **ill** in the Emergency Room. Unless the child did appear severely toxic in the Emergency Room, I cannot reasonably see any way this tragic death could have likely been prevented.

Sincerely,

*Michael L. Macknin*

Michael L. Macknin, M.D.

MLM/sg

References

1. Lewis LS: Prognostic factors in acute meningococcaemia, Archives of Diseases of Children 54:44-48, 1979.
2. Feigin RD: Bacteremia and septicemia, in Behrman RE, Vaughan VC (eds): Nelson Textbook of Pediatrics, Philadelphia, WB Saunders Co., 1983, pp 612, 613.
3. Black SB and Grossman M: Fever of unknown origin, in Rudolph AM, Pediatrics, Norwalk, Appleton-Century-Crofts, 1982, p 491.
4. Carroll WL, Farrell MK, Singer JI, et al: Treatment of occult bacteremia: A prospective randomized clinical trial, Pediatrics 72(5): 608-612, 1983.
5. McCarthy PL: Editorial: Further comments on the evaluation of febrile children, Pediatrics in Review 2(2): 35-36, 1980.
6. Black SB and Grossman M: Fever in infants and young children, in Rudolph AM, Pediatrics, Norwalk, Appleton-Century-Crofts, 1982, p 489.
7. McCarthy PL: Editorial: Further comments on the evaluation of febrile children, Pediatrics in Review 2(2):36, 1980.
8. Stiehm ER and Damrosch DS: Factors in the prognosis of meningococcal infection, J Pediatrics 68:457-467, 1966.
9. McGowen JE, Bratton L, Klein JO, et al: Bacteremia in febrile children seen in a "walk-in" pediatric clinic, N Engl J Med 288:1309-1312, 1973.
10. Teele DW, Patton SI, Grant MJA, et al: Bacteremia in febrile children under 2 years of age: Results of cultures of blood of 600 consecutive febrile children seen in a "walk-in" clinic, J Pediatr 87:227-230, 1975.
11. McCarthy PL, Grundy GW, Spiesel SZ, et al: Bacteremia in children: An outpatient clinical review, Pediatrics 57:861-868, 1976.
12. McCarthy PL, Jekel JF, Dolan TF: Temperature greater than or equal to 40 C in children less than 24 months of age: A prospective study, Pediatrics 59:663-668, 1977.
13. Teele DW, Marshall R, Klein JO: Unsuspected bacteremia in young children, Pediatr Clin North Am 26:773-784, 1979.
14. McCarthy PL: Controversies in pediatrics: What tests are indicated for the child under 2 with fever, Pediatr Rev 1:51-56, 1979.