

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

- - -

D09.275

Travis Cates, et al., )  
 )  
Plaintiffs, )  
 )  
vs. ) Case No. 167835  
 ) Judge McManamon  
Cleveland Metropolitan )  
General Hospital, et al., )  
 )  
Defendants. )

- - -

Video deposition of THOMAS MALLORY, M.D., a  
witness herein, called by the defendants for direct  
examination under the statute, taken before me, Maria  
DiPaolo-Jones, C.M., Registered Professional Reporter  
and Notary Public in and for the State of Ohio,  
pursuant to notice, at the offices of the deponent, 720  
East Broad Street, Columbus, Ohio, on Monday, April 20,  
1992, beginning at 4:37 o'clock p.m., and concluding on  
the same day.

- - -

COPY  
TRANSCRIPT

1 APPEARANCES:

2 ON BEHALF OF THE PLAINTIFFS:

3 Charles I. Kampinski, Esq.  
4 Donna Taylor-Kolis, Esq.  
5 Charles I. Kampinski Co., L.P.A.  
6 1530 Standard Building  
7 Cleveland, Ohio 44113

8 ON BEHALF OF DEFENDANT MARY-BLAIR MATEJCZYK,  
9 M.D.:

10 Robert C. Seibel, Esq.  
11 Jacobson, Maynard, Tuschman & Kalur Co.,  
12 L.P.A.  
13 1001 Lakeside Avenue, Suite 1600  
14 Cleveland, Ohio 44114

15 ON BEHALF OF DEFENDANT CLEVELAND METROPOLITAN  
16 GENERAL HOSPITAL:

17 Thomas H. Allison, Esq.  
18 Arter & Hadden  
19 1100 Huntington Building  
20 Cleveland, Ohio 44115

21 - - -

22

23

24

25

Monday Afternoon Session

April 20, 1992

- - -

STIPULATIONS

It is stipulated by and among counsel for the respective parties herein that the video deposition of THOMAS MALLORY, M.D., a witness herein, called by the defendants as upon direct examination under the statute, may be taken at this time and reduced to writing in stenotype by the Notary, whose notes may thereafter be transcribed out of the presence of the witness; that proof of the official character and qualification of the Notary is waived; that the examination, reading and signature of the said THOMAS MALLORY, M.D. to the transcript of his deposition are expressly waived by the witness; said deposition to have the same force and effect as though signed by the said THOMAS MALLORY, M.D.

- - -

I N D E X

- - -

WITNESS :

PAGE

Thomas Mallory, M.D.

Direct examination by Mr. Seibel

6

Cross-examination by Mr. Kampinski

20

Cross-examination by Mr. Allison

61

Redirect examination by Mr. Seibel

64

Recross-examination by Mr. Kampinski

68

- - -

EXHIBITS:

MARKED

None.

- - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1 Monday Afternoon Session

2 April 20, 1992

3 - - -

4 P R O C E E D I N G S

5 - - -

6 VIDEO TECHNICIAN: Doctor, would you  
7 please raise your right hand?

8 Do you solemnly swear that what you're  
9 about to say will be the truth, so help you God?

10 DR. MALLORY: I do.

11 VIDEO TECHNICIAN: Thank you.

12 - - -

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THOMAS MALLORY, M.D.

2 of lawful age, being first duly sworn to testify to the  
3 truth, the whole truth, and nothing but the truth, as  
4 hereinafter certified, deposed and testified as  
5 follows:

6 DIRECT EXAMINATION

7 BY MR. SEIBEL:

8 Q. Doctor, would you introduce yourself to the jury,  
9 please?

10 A. I'm Dr. Thomas Mallory.

11 Q. Doctor, would you explain to the jury why it was  
12 necessary to videotape your trial testimony here today?

13 A. Well, I have a conflict on the date at which I  
14 was to appear in court, and it was at my convenience  
15 this deposition's being taken.

16 Q. All right. What specifically are you going to be  
17 involved in on May 11th when this case goes to trial?

18 A. On that particular date I'll be in Mexico at the  
19 Mexico Orthopedic Association giving a paper on total  
20 joint replacement.

21 Q. What is your medical specialty?

22 A. My specialty is orthopedic surgery.

23 Q. Is your practice in orthopedic surgery  
24 concentrated in any specific area?

25 A. Yes. I have a subspecialty within orthopedic

1 surgery of joint replacement surgery.

2 E. Dr. Mallory, if you would, would you describe  
3 your medical education and specialty training?

4 A. I received my doctorate of medicine from the Ohio  
5 State University. I went on to spend an additional  
6 four or five years in postoperative -- or rather  
7 postgraduate training in orthopedic surgery at the Ohio  
8 State University, and then I completed a fellowship in  
9 hip surgery at Harvard Medical School, and then  
10 proceeded with my practice here in Columbus.

11 Q. How long have you been practicing?

12 A. Twenty years.

13 Q. You mentioned you practice here in Columbus.  
14 Would you tell the jury about your current practice and  
15 what kind of operation you have here?

16 A. I have a practice exclusively devoted to hip and  
17 knee surgery, replacing these particular joints that  
18 have become arthritic with artificial implants.

19 Q. Which hospitals do you practice in?

20 A. I currently concentrate most of my practice at  
21 the Grant Medical Center, although I do have hospital  
22 privileges at the Ohio State University Hospital,  
23 consulting privileges at Riverside, St. Anthony's  
24 Hospital, also at the Children's Hospital.

25 Q. And what offices do you hold at those hospitals?

1 A. At the Grant Medical Center I'm the Director of  
2 the Joint Implant Center, and also the Director of the  
3 Orthopedic Institute.

4 Q. Now, Doctor, you are a member of quite a number  
5 of medical and orthopedic organizations. Would you  
6 tell the jury about which organizations you feel are  
7 most significant in your field?

8 A. Well, certainly, to be a member of the American  
9 Academy of Orthopedic Surgery is a requirement I think  
10 most competent and well-trained orthopedic surgeons  
11 aspire to.

12 But beyond that, because of my special interest  
13 in hip and knee surgery, I've been honored to be a  
14 member of the Hip Society and also the Knee Society.

15 These are special groups of surgeons that have  
16 established a practice exclusively in these areas, and  
17 also who have distinguished themselves in teaching and  
18 in research and in publications, and also in  
19 development of techniques that are considered such that  
20 they would merit membership in these organizations.

21 Q. Tell the jury about the Board certification  
22 process for orthopedic surgery and its significance to  
23 you.

24 A. The Board certification is an important concept  
25 for you to understand. What is required to become



1 Board-certified is that you -- you go through an  
2 educational regime of medical school and residency, and  
3 then you take a series of examinations, both at the  
4 time you finish and then later on.

5 And the competence of your ability to respond  
6 appropriately to the examinations, of course, are  
7 important, but also you have to pass a peer review.

8 So in the process of becoming Board-certified, it  
9 may take a period of six to seven years, but as I said  
10 earlier, it is the prerequisite for membership in many  
11 of these acclaimed organizations.

12 Q. Are you Board-certified?

13 A. Yes, I am.

14 Q. Now, you've published around 80 articles in the  
15 medical literature, mostly on the subject of joint  
16 replacements. Tell the jury why you devote the time  
17 and energy to research and publication in your field.

18 A. First of all, I have a real keen interest in  
19 research. I want to know why certain things work and  
20 why other things don't work.

21 And I was taught early in my training that -- to  
22 keep a dialogue or a record or pursue observation of  
23 phenomena was a very important characteristic of  
24 maintaining competence and communicating within the  
25 medical profession.

1           So as I began to do these operations over and  
2           over again, I became interested in knowing which kinds  
3           of techniques were good and which were not, and what my  
4           own personal experience was.

5           And then being able to share that with a larger  
6           orthopedic community necessitated that I begin to write  
7           my experiences.

8           These articles then, were submitted to journals,  
9           and they were subject to refereed editor- --  
10          editorializing, and they have been published, and they  
11          are an ongoing method of physician dialogue amongst  
12          ourselves as to what is appropriate, inappropriate, and  
13          where the cutting edge of science is actually moving.

14        Q.     Have -- Are you involved in actually training  
15          other -- other orthopedic surgeons in training?

16        A.     Yes, I am.

17        Q.     And what is your role in that regard?

18        A.     I really train physician orthopedic surgeons at  
19          two levels, one is the resident level where they're  
20          learning the basics of orthopedic surgery, and then I  
21          have a postgraduate fellowship where the individual has  
22          already finished his orthopedic training and he comes  
23          and spends up to a year, and some men have spent  
24          actually a year and a half, with me learning the  
25          techniques of hip and knee surgery specific to the area

1 of my expertise.

2 Q. Doctor, what is the Mallory-Head Femoral  
3 Component?

4 A. That's a nomenclature given to a device that I've  
5 developed with Dr. Head in Dallas, Texas. It's a type  
6 of prosthetic device that we use in the hip area. It's  
7 our design, and it has enjoyed some popularity in the  
8 United States and also in Europe.

9 Q. It's named after you?

10 A. It's named after me, yes.

11 Q. How are you acquainted with Dr. Matejczyk and  
12 several other orthopedic surgeons in -- in the group  
13 she was with before she left for the Cleveland Clinic  
14 last year?

15 A. Actually, we were in an educational circle. As I  
16 said earlier, the writing of these papers that I've  
17 done has introduced me to a faculty group which gives  
18 lectures over the United States, and also in Europe,  
19 specific to the area of joint replacement, and it is in  
20 those types of encounters that I met Dr. Matejczyk.

21 Q. And what impact does your acquaintance with Dr.  
22 Matejczyk have on your opinions in this case?

23 A. Well, I do know her, but my opinions stand  
24 independent of my relationship with her.

25 I simply evaluate the situation, hopefully from a

1 standpoint of a physician and as a scientist, and if  
2 the material has credibility, then I speak in behalf of  
3 it, if it doesn't, I -- I feel I'm independent of any  
4 relationship with her; however, I do know her, and I do  
5 respect her.

6 Q. Over the years you've testified as an expert  
7 witness a number of times in -- in other medical  
8 malpractice cases, but always for the defense. Would  
9 you explain to the jury why?

10 A. Now, that means that I generally defend or pretty  
11 much defend the position of the physician in a  
12 malpractice situation. The reason I do that is because  
13 I think the physician often times is at a disadvantage.

14 Why do I say that? Well --

15 MR. KAMPINSKI: Objection, move to  
16 strike.

17 THE WITNESS: -- I think the  
18 disadvantage exists because the physician's position  
19 isn't fully understood by the layman.

20 The tremendous alternatives of dealing  
21 with biological systems, of how they change rapidly, of  
22 all the data we have to assimilate and -- and make  
23 value judgments, and at the same time deal with the  
24 knees and the compassionate elements of the -- of the  
25 phys- -- of the patient themselves is often difficult,

1 and I -- I have a -- I have a feeling for that kind of  
2 a discourse and I -- I have concentrated my expert  
3 witness in these areas.

4 MR. KAMPINSKI: I'll -- I'll object,  
5 and I'll move to strike the answer.

6 BY MR. SEIBEL:

7 Q. Now, in all the cases that you review, do you  
8 be- -- actually become an expert witness in all those  
9 cases?

10 A. Not all of them, because if I don't think the  
11 physician has an appropriate position, I won't defend  
12 them.

13 Q. Through your education, training, experience and  
14 expertise, are you familiar with the standards of good  
15 and reasonable care that orthopedic surgeons involved  
16 in joint replacement surgery should follow?

17 A. I believe I have a good concept of what standard  
18 care is in my area of joint replacement.

19 Q. Now, Doctor, before we turn to the actual issues  
20 in this case, the law says that the jury should hear  
21 two additional things; number one, are you licensed to  
22 practice in the State of Ohio?

23 A. I am.

24 Q. And do you spend at least one half of your  
25 professional time in the active clinical practice of

1 medicine in your field?

2 A. I do.

3 Q. And, Dr. Mallory, at my request did you review  
4 certain materials in this case?

5 A. I did.

6 Q. Tell the jury what you reviewed.

7 A. I re- -- reviewed certain depositions and  
8 hospital charts and other materials.

9 Q. Based upon those materials, your education,  
10 training and experience as an orthopedic surgeon  
11 specializing in joint replacements, do you have an  
12 opinion, to a reasonable medical certainty, whether --  
13 whether the care rendered to Travis Cates by Dr.  
14 Matejczyk beginning on November 13, 1987 met accepted  
15 standards of care?

16 A. I have an opinion.

17 Q. And what is your opinion, Doctor?

18 A. My opinion is that it did, in fact, meet the  
19 standards of care as I perceive them.

20 Q. Would you explain to the jury the basis of your  
21 opinion?

22 A. Well, given the situation of patient management,  
23 which means that you take a history, you do a physical  
24 examination, you evaluate certain laboratory data, you  
25 listen to the advice and consultation of peer

1 physicians, and you render opinions, and then you  
2 formulate a management agenda, and then you're  
3 attentive to it, and you proceed with the ongoing  
4 management of the patient in that particular  
5 perspective, I think that Dr. Matejczyk did everything  
6 that is characteristic and usual and customary in the  
7 care of the individual involved in this case.

8 Q. Now, specifically, do you have an opinion, to a  
9 reasonable medical certainty, whether Travis Cates had  
10 a deep knee infection when he came to the hospital on  
11 November 13th, 1987?

12 A. From the information that I reviewed, I do not  
13 think he had a deep knee infection.

14 Q. And would you explain to the jury why you feel  
15 that he did not have a deep knee infection?

16 A. I think we have to define -- First of all,  
17 "infection" is defined by the presence of -- of  
18 organisms or bacteria, or even sometimes viruses in --  
19 in situations where the location of those particular  
20 organisms may be on the surface, which is called  
21 superficial, or may be deep within the cavity of the  
22 body.

23 And from the information that I reviewed, the  
24 indicators were that this infection was on the surface  
25 of the knee, and not deep within it.

MC GINN  
COLUMBUS

1           And the mater  
2       materials I review  
3       was a superficial

4       Q.     What infor  
5       itself did you  
6       conclusion the

7       A.     Now, if  
8       location of  
9       can't do very

10           What do I mean by  
11       swollen, red, inflamed. And once  
12       body, it can spread throughout the system of  
13       patient, and then they begin to run temperatures, then  
14       blood profile changes, and they -- they have a  
15       completely different pattern about the way in which  
16       they're sick.

17           However, if that infection stays on the surface,  
18       then all of these indicators of swelling, of  
19       temperature, of feeling very sick, of changing the  
20       blood wouldn't be bothered.

21           And if you look at the specifics in this case, in  
22       November of '87, when he presented, he had all the  
23       characteristics of a superficial infection.

24           So based on that objective data, not only what  
25       she said, but how it was supported by the laboratory



5 1 and by observation, by other people, would indicate  
2 that this simply was a surface infection.

3 Q. Doctor, what significance does the aspirate of  
4 the knee joint have in -- in your conclusions?

5 A. Well, we must remember that when you aspirate a  
6 joint, you go deep in the joint and you draw off fluid,  
7 and that fluid reflects the environment.

8 It's sort of like sampling the air in this room.  
9 It will give you the quality of the environment.

10 And when they pulled this sample off during an  
11 aspiration process, it's a very strong indicator as to  
12 whether or not there was bugs or no bugs resident deep  
13 in the joint.

14 Q. Would a Gallium scan have been of any benefit in  
15 diagnosing whether Mr. Cates had a deep knee infection  
16 on November 13th or thereafter?

17 A. Well, I think we have to understand what a  
18 Gallium scan is. It's one of the tests that we do to  
19 determine the presence of increased circulation around  
20 the joint.

21 And there are a number of things that can  
22 increase the circulation around a joint; could be an  
23 infection, of course, it could be rheumatoid arthritis,  
24 which this man had, or it could be a mechanical failure  
25 of the joint itself.

1           So if the test was positive, you still would have  
2           to go through a differential as to why the test was  
3           positive.

4           It may or may not have been related to the  
5           infection, it would have been only additional  
6           information, of course, requiring further definition.

7       Q.     At some point should the aspirate of Mr. Cates'  
8           knee joint been repeated?

9       A.     It should have been repeated if he had not  
10          responded -- Listen to me carefully -- responded well  
11          to treatment. And from what I indicate -- was able  
12          to -- to derive from the records I reviewed, he did  
13          have an appropriate response to the treatment regime  
14          that was presented.

15          And if he had not, had shown any of the  
16          indicators of having a deep infection, then he should  
17          have been re-aspirated.

18       Q.     Are there risks to re-aspiration?

19       A.     There definitely are.

20       Q.     What are those?

21       A.     Contamination. If the bugs were on the surface  
22          and you stick a needle through that area numerous  
23          times, you can drive the bugs into the deep cavities of  
24          the body.

25       Q.     Mr. Cates was discharged from the hospital on

1 December 3rd, 1987. Do you have an opinion, to a  
2 reasonable medical certainty, whether Dr. Matejczyk met  
3 the standards of care in her follow-up care of Mr.  
4 Cates?

5 A. I have an opinion.

6 Q. What is your opinion, Doctor?

7 A. I have a -- My opinion is that she did, in fact,  
8 meet the standards of prudent care.

9 Q. Now, would you explain to the jury why you feel  
10 that Dr. Matejczyk's follow-up care was appropriate?

11 A. She continued to observe the wound. She had him  
12 come back to the office. She monitored the course of  
13 his illness. And she was attentive to him.

14 Knowing full well that the management of this  
15 kind of a condition is observation, probably more  
16 important than treatment of drugs and surgery and  
17 everything else, is to determine whether or not this  
18 infection stays on the surface or all of a sudden  
19 radically change, penetrates the body cavity, and  
20 becomes an animal of a different nature. And so the  
21 key word here is continued observation.

22 Q. Mr. Cates came into the hospital on January 3rd,  
23 1988 with a number of problems due to infection. Do  
24 you have an opinion, to a reasonable medical certainty,  
25 as to when Mr. Cates developed the deep knee infections

1 and infections elsewhere that brought him to the  
2 hospital on January 3rd?

3 A. I have an opinion.

4 Q. What is your opinion, sir?

5 A. My opinion is, based on the records I reviewed,  
6 that as of December the 30th, at least at the last time  
7 Dr. Mate- -- Matejczyk examined the patient, that his  
8 wound, by all categories thus expressed, was still  
9 superficial.

10 Something then changed that whole profile, and  
11 certainly later in the month he became an entirely  
12 different kind of a patient with a serious, deep,  
13 septic process. But something changed the environment  
14 for that individual between, as I say, December the  
15 30th and subsequently.

16 MR. SEIBEL: Okay. I have nothing  
17 further. Your witness.

18 - - -

19 CROSS-EXAMINATION

20 BY MR. KAMPINSKI:

21 Q. Doctor, you've got -- My name's Charles  
22 Kampinski. I represent Travis Cates. We haven't met  
23 before, Doctor.

24 You've got sitting on the floor, apparently, the  
25 materials that you were provided to review; is that

1 correct?

2 A. That's right.

3 Q. If you would, why don't you just put them up on  
4 the table, and then maybe indicate for the jury what --  
5 what it is you were sent to review.

6 You can just go through them and -- and let us  
7 know what they are.

8 A. I was given the records of the Metro General  
9 Hospital in regards to Travis Cates, 1/3/88 through  
10 1/23/88.

11 This is my deposition given in September.

12 These are correspondence to the Defendant's  
13 attorney.

14 This is a deposition of a Dr. Richard Binkhortz,  
15 Jr. (sic).

16 This is a deposition of William Bohl.

17 The deposition of a Mary-Blair Matejczyk.

18 Deposition -- or rather a letter from one Jerome  
19 Levine, M.D., and his CV, and his deposition.

20 Outpatient records from what I assume to be Metro  
21 General Hospital.

22 a. Which -- which dates are those, Doctor? The ones  
23 you told us before were January and February.

24 A. I'm not really sure what this is. Starts with  
25 July 30th, 1979.

1 Q. Okay.

2 A. And runs through April 10th, 1989.

3 Q. All right.

4 A. All right.

5 This is my CV.

6 The correspondence. Correspondence.

7 Correspondence.

8 Deposition from a Roberta Persaud.

9 And hospital records, again, from Metro General  
10 11/13/87 to 12/2/87 regarding Patient Cates.

11 Q. All right. What time do you have, Doctor?

12 A. Beg your pardon, sir?

13 Q. What -- what time do you have?

14 What -- what time are we taking the deposition  
15 now?

16 A. I have seven minutes after 5:00 o'clock.

17 MR. KAMPINSKI: Okay. Why don't we  
18 just go off the record for a minute, give me a chance  
19 to look through the materials that you have there.

20 VIDEO TECHNICIAN: Going off the record.

21 (Recess taken.)

22 VIDEO TECHNICIAN: We're back on the  
23 record.

24 BY MR. KAMPINSKI:

25 Q. All right. Doctor, you didn't indicate that you

1 had received the deposition -- excuse me -- of Mr.  
2 Cates. Did you ever receive that, sir?

3 A. I don't believe that I did.

4 Q. Did you ask for it?

5 A. No, I don't think I did. I remember that I  
6 didn't.

7 Q. All right. You indicated in response to one of  
8 Mr. Seibel's early questions that you wouldn't be  
9 available for trial, that's why we're here in your  
10 office in Columbus taking this deposition, that you had  
11 a conflict in the trial date and you were going to  
12 Mexico, right?

13 A. Right.

14 Q. Where are you going in Mexico?

15 A. Mexico City.

16 Q. The trial originally was scheduled in September,  
17 do you recall that, of 1991?

18 A. Okay.

19 Q. Yeah. And you were going to be videotaped at  
20 that time, too.

21 A. Right.

22 Q. All right. Were you going out of the state at  
23 that time --

24 A. No.

25 Q. -- as well?

1           Okay. You just didn't want to come to Cleveland  
2           to testify, right?

3           A.     I'm a busy orthopedic surgeon, I'd rather do it  
4           here, it's more convenient for me.

5           Q.     Okay. As a matter of fact, I think the early  
6           letters from Mr. Seibel that I'm looking at indicated  
7           that, you know, they would take your deposition here  
8           if, in fact, you became involved in the case.

9           A.     That's right.

10          Q.     All right. And that was one of the requirements  
11          you had, you wanted to be deposed here, correct?

12          A.     That's right.

13          Q.     All right. His letter sending the materials to  
14          you appears to be dated on August 10th.

15                 Maybe you could help me out, I just saw it here a  
16          second ago. August 10th of 1990. Do you see that  
17          anywhere, Doctor, when you received all the  
18          depositions?

19          A.     You mean when I first received the materials?

20          Q.     Yes, sir.

21          A.     Oh, boy. That would be a hard one to really nail  
22          down.

23          Q.     Well, I think -- I think the letter's in there  
24          somewhere.

25          A.     I really -- Perhaps here.



1       **a.**       It could be here. Yeah. August 10th?

2       A.       August 10th, 1990, right.

3       Q.       All right. And, apparently, you received that,  
4       there's a stamp on there, the 13th?

5       A.       The 13th, yes.

6       Q.       All right. And when did you call Mr. Seibel  
7       back, does it indicate?

8               On the 16th?

9       A.       Yes.

10      Q.       All right. So that you reviewed all these  
11      materials then, between the 13th and the 16th?

12      A.       No.

13      **a.**       No?

14               Well, I mean, he -- he sends you a letter on the  
15      20th, four days later, indicating, and -- and I'll  
16      quote this, you can read along with me, "Thank you for  
17      agreeing to be an expert witness in the  
18      above-referenced matter, I received your message last  
19      week that you will defend Dr. Matejczyk's care in this  
20      case."

21               And that, apparently, was in a phone call you  
22      made on the 16th, right, three days after you received  
23      the material?

24      A.       Perhaps.

25      Q.       Okay.

1 A. I don't know what this means. I didn't put that  
2 on there (indicating).

3 Q. All right. Well, when did you review all the  
4 materials?

5 A. I don't know. Sometime be- -- before we're  
6 talking right now.

7 Q. Well, between the 13th and the 20th you made a  
8 decision to defend her care. Correct?

9 A. Yes.

10 Q. Actually, it would have been between the 13th and  
11 a week before the 20th. So could you tell the jury  
12 when it is you reviewed all these materials and you  
13 decided to be an expert on behalf of Dr. Matejczyk?

14 A. I can't really be specific on that.

15 Q. Well, did it matter to you what was in the  
16 materials in terms --

17 A. It did.

18 Q. -- of your decision?

19 A. It did.

20 Q. Well, Mr. Seibel says in his letter to you August  
21 20th, "Please put your findings that Dr. Matejczyk met  
22 the standard of care applicable to the circumstances of  
23 this case into a concise and succinct letter addressed  
24 to me."

25 A. And when did I send that letter out?

1 Q. September 10th, Doctor.

2 My question though is, I mean, is that typically  
3 what happens, that an attorney tells you what to put in  
4 the letter?

5 MR. SEIBEL:

Objection *overruled*

6 THE WITNESS:

No. That may be the  
7 way you look at it, but as far as I'm concerned, I put  
8 in the letter what I believe to be true.

9 BY MR. KAMPINSKI:

10 Q. Okay. Your relationship with Dr. Matejczyk was  
11 over how many years in terms --

12 A. Five years.

13 Q. Okay. And you would see her at these various  
14 conventions you went to?

15 A. That's right.

16 Q. And did the two of you speak at any of them  
17 together or --

18 A. We were on several committees and panels and  
19 presentations together.

20 Q. Okay. And that certainly wouldn't sway you one  
21 way or another in terms of the care that she rendered  
22 Mr. Cates, would it?

23 A. Well, perhaps the fact I knew who she was gave me  
24 a certain bias but, again, I stand on my professional  
25 integrity, I -- I evaluated the Case on the basis of

1 its merits.

2 Q. Uh-huh.

3 In the cases that you reviewed -- And you did say  
4 that all of the ones you've been involved in have been  
5 for the defendants.

6 A. That's true.

7 Q. How -- how many would you say those are?

8 A. I wouldn't have any idea.

9 Q. Are we talking about hundreds, dozens, you don't  
10 know?

11 A. I have no idea.

12 Q. Okay. In those cases that you've looked at that  
13 you told Mr. Seibel where you opted not to testify  
14 because you felt the physician's position was not  
15 defensible, have you in those cases indicated that to  
16 the injured party who might also be under some  
17 disadvantage?

18 MR. SEIBEL: Objection. *overruled*

19 THE WITNESS: No, because they  
20 never contacted me. I'm not a judge.

21 BY MR. KAMPINSKI:

22 Q. Well, but that's --

23 A. I think everybody's subject to a fair trial. Why  
24 should I present opinions only as they relate to my  
25 opinions about situations?

1 Q. Well, maybe I misunderstood. I -- I thought you  
2 said earlier that one of the reasons you -- you help  
3 doctors, or that you only help doctors, is you feel  
4 they're somewhat disadvantaged. If --

5 A. I feel they're dis- -- Yes, I do.

6 Q. If -- Well, let me finish my question.

7 A. Sure.

8 Q. If -- If, in fact, you saw, and apparently you  
9 have seen, instances where an injured party's  
10 disadvantaged, why wouldn't you come to their  
11 assistance?

12 MR. SEIBEL: Objection. *overruled*

13 THE WITNESS: I -- That's just not  
14 my style. You know, people have opinions, and I give  
15 my opinions in situations where I feel that the  
16 physician is right about what they're about.

17 BY MR. KAMPINSKI:

18 Q. Okay. And when they're wrong, you don't give an  
19 opinion.

20 A. That is true.

21 Q. Doctor, did the records that you reviewed reflect  
22 that Mr. Cates in the admission of November did, in  
23 fact, have a deep knee infection?

24 A. In November of when?

25 Q. In -- in his admission, November of '88, sir.

1 A. It's '88?

2 MR. SEIBEL: It's '87.

3 BY MR. KAMPINSKI:

4 Q. '87, I'm sorry.

5 A. 87?

6 Q. Yeah. Didn't they reflect that the -- I mean,  
7 what you said is that based upon what you reviewed, and  
8 you said that a number of times in your direct tes- --  
9 testimony, "materials that I reviewed," materials that  
10 I reviewed indicated it was superficial on the surface,  
11 didn't they indicate that it was a deep knee infection,  
12 Doctor?

13 A. Who are "they"?

14 Q. Well, the physicians that -- that saw him at the  
15 time.

16 A. Dr. Matejczyk?

17 Q. Well, what does the clinical resume for the  
18 November 13th visit indicate, Doctor?

19 A. By who?

20 Q. Dr. Battersby.

21 A. Who's he?

22 Q. I thought he -- you said you read his deposition  
23 in this case.

24 A. I -- I might have, but I'm not really familiar  
25 with -- with who he was.

1 Is he the resident?

2 Q. Well, why don't you -- why don't you get the  
3 clinical resume, Doctor, and tell the jury what the  
4 admitting diagnosis was,

5 A. Who is this gentleman now?

6 Q. Well, here, I'll show you. Well --

7 A. Why don't you show me what you have.

8 Q. Sure. Dr. Battersby, orthopedics. Did you ever  
9 see that before?

10 A. No, I have not seen this.

11 Q. Okay. And would you tell the jury what that is  
12 and what page -- well, the -- the numbers might not be  
13 the same, but why don't you just tell the jury what  
14 that is, first of all, that you're looking at.

15 A. This is a history that a -- probably a house  
16 officer takes when a patient comes into a hospital.

17 Q. Well, you'll excuse me, Doctor, but this was  
18 dictated subsequent to his discharge, wasn't it? I  
19 mean, what's it got --

20 A. All right, this is a -- Yeah, I'm sorry. This is  
21 a discharge note, 2/12/87.

22 Q. I'm sorry, 12/2/87.

23 A. 12/2/87.

24 Q. So after he was discharged, this was dictated,  
25 correct?

1 A. That's right.

2 Q. All right. And --

3 A. But, again, I'm not really clear who this  
4 gentleman was. Has he given a deposition, this man?

5 Q. Yes, sir.

6 A. Did I have that?

7 Q. I don't know. I didn't send you the materials.

8 A. I don't think I reviewed this.

9 MR. SEIBEL: You did not.

10 THE WITNESS: I don't know this  
11 man. I never reviewed his deposition.

12 BY MR. KAMPINSKI:

13 Q. Well, could you please tell the jury why it is  
14 your attorneys wouldn't have provided you with a  
15 deposition of an orthopedic surgeon who, in fact, did  
16 see Mr. Cates --

17 A. I -- I --

18 MR. SEIBEL: Objection. *withdrawn* He's a  
19 resident.

20 MR. KAMPINSKI: Excuse me. Let me  
21 finish my question.

22 BY MR. KAMPINSKI:

Q. -- at the time that Mr. Cates was in the hospital  
in November, if you're -- I mean, in fairness to you,  
25 if you're going to be asked questions about this, don't



1       you think you ought to be provided the materials  
2       pertinent to the questions you're going to be asked?

3       A.       I can only review the material that was sent to  
4       me.

5       Q.       All right. That's fair.

6               Would you tell the jury what the diagnosis was by  
7       Dr. Battersby?

8       A.       Infected right total knee arthroplasty.

9       Q.       All right. And that's not superficial, that's  
10      total knee, correct?

11      A.       Beg your pardon?

12      Q.       That's not just a superficial infection being  
13      described there, that's --

14      A.       It could be. An infection could happen anywhere.  
15      It could be on the surface, or it could be deep.

16               Does it say superficial or deep? It just says  
17      "infected." It's a generic term.

18      Q.       And you don't think that that means total knee,  
19      huh?

20               It says "total knee," doesn't it?

21      A.       I know, but it doesn't say to me whether it's  
22      deep or superficial. It makes a heck of a difference  
23      in terms of how you manage the patient.

24      Q.       All right. What would -- Why don't you read on  
25      Page 2 of that the impression of Dr. Battersby, and

1 then maybe you can let us know if it was superficial or  
2 total -- total knee infection.

3 A. The impression was an infected right total knee,  
4 admitted for possible removal. I&D -- or Infectious  
5 Disease will be consulted, Cardiology will be  
6 consulted.

7 Q. All right. So that's not a superficial infection  
8 he's describing, that's a total knee infection, isn't  
9 it, Doctor?

10 A. Again, an infected right total knee replace, or  
11 total knee is an infected total knee: it could be  
12 superficial or deep. It doesn't say either way.  
13 That's the way I understand it.

14 Q. But you've never seen this before, and this was  
15 in the records?

16 A. I've never seen that, no, sir.

17 And I'm not familiar with who this physician is.  
18 Is he a student or is he a staff man with the graduate  
19 qualifications that we've been talking about? I don't  
20 know.

21 Q. Well, how about Dr. Meyer, you ever hear of Dr.  
22 Meyer?

23 A. Yes, I did.

24 Q. And who is he?

25 a. He was an orthopedic resident that aspired the

1 knee.

2 Q. A resident's a physician, isn't he?

3 A. He's a physician in training.

4 Q. Okay. Well, I mean, he's a -- he's an M.D.

5 A. He's an M.D. --

6 Q. He's got his M.D. degree.

7 A. -- but he's training to develop an expertise in  
8 an area such as orthopedics.

9 Q. Uh-huh. And was it his opinion set forth in the  
10 record that this was an infected right total knee?

11 A. I do not recall his -- no, I don't recall his  
12 name.

13 Q. Okay. Doctor, you mentioned that one of the ways  
14 that you would differentiate between a -- whether the  
15 infection was deep or whether it was superficial was if  
16 it was red, swollen -- I'm sorry, did you say purulent,  
17 purulent drainage?

18 A. That would be a -- a factor depending on where  
19 the drainage was coming from.

20 Q. Well, if it was coming out of the -- out of the  
21 wound, I mean, that would be an infection that --

22 A. If it was coming off the surface of the wound  
23 versus the sinus track.

24 Q. I see.

25 A. There's a difference.

1 Q. Okay. Did you review the records to see if there  
2 was purulent drainage, if there was fever, if there was  
3 swelling in the knee?

4 A. As I understand from my review, that there was  
5 drainage from a superficial ulcer, and that there was  
6 an associated erythema around, but the joint, as I  
7 recall, was not particularly swollen. I don't  
8 remember, though, exactly what the -- But I do know  
9 that Dr. Meyer was impressed enough to aspirate the  
10 knee.

11 Q. And -- and I take it that's one of the things you  
12 were relying on in terms of your conclusion that this  
13 was a superficial wound,

14 A. That's -- Put a lot of emphasis on that.

15 Q. Right.

16 I think, as a matter of fact, you said it's a  
17 very strong indicator.

18 A. That's right.

19 Q. All right.

20 Well, Doctor, here's a note dated November 14th,  
21 the day after he came in, actually, in two places  
22 there's a reference to "probable septic right knee,"  
23 talking about the prosthetic knee. And then again,  
24 it's by the same individual, I believe this is Dr.  
25 Blinkhorn, I could be wrong, but it says "probable

1 septic prosthesis right knee with superficial furuncle  
2 over right patella."

3 So that it was that doctor's opinion as well that  
4 the knee was septic. Could you tell the jury what  
5 "septic" means?

6 A. It means totally infected. Profoundly infected.

7 Q. All right. Now, did you review this part of the  
8 record, Doctor?

9 A. This record I did not review, as I said.

10 Q. Well, this is dated November 14th, 1987.

11 A. Well, it was not -- I -- it just wasn't available  
12 to me. But I -- I don't think that I would be  
13 impressed with what he said because I don't know that  
14 he would have said that if he didn't know what the  
15 cultures were going to show.

16 Q. Well, wait a minute. Wait a minute.

17 Let's not confuse. When you say "cultures,"  
18 there were different cultures involved in this case,  
19 correct?

20 A. Well, you've got to remember -- Well, let's put  
21 this in perspective, these are house officers,  
22 orthopedic surgeons in training. They are the first,  
23 triage of the patient. They come in and they are  
24 taught to make observations. And they make  
25 observations on what they know and what they don't

1 know. And they -- they do a history.

2 And that's what this is, as I understand it. But  
3 it's not a final diagnosis, it's an opinion about what  
4 the -- I think he lists about four or five different  
5 possibilities of the causes of this man's illnesses --

6 Q. Excuse me, Doctor.

7 A. -- not just one.

8 Q. Excuse me. My -- my only question, sir, was  
9 there were different cultures involved, were there not?

10 A. There were, as I understand it, a superficial  
11 culture and a deep culture.

12 Q. And the superficial would be swab, correct?

13 A. Would be a swab, right.

14 Q. Yeah. And what did that grow out?

15 A. It grew out staphylococcus aureus.

16 Q. And what kind of infection is that, Doctor?

17 A. I beg your pardon, sir?

18 *a.* What kind of an infection is that, bacterial?

19 A. It's a bacterial infection, yes, sir.

20 Q. All right. And is it the same infection that was  
21 later grown out in January when he was returned to the  
22 hospital?

23 A. I believe it was, yes.

24 Q. Yes.

25 And it was the same, of the same specificity,

1 wasn't it?

2 A. Same type.

3 Q. Yeah.

4 And that -- and there's no question but what --  
5 he was totally septic when he was admitted in January  
6 of 1988, is there?

7 A. Yes. From what I could review.

8 Q. All right. Was there in the record an indication  
9 by the initial physicians, that is in the November  
10 hospitalization, that they were going to do a repeat  
11 aspiration, that you can recall?

12 A. I can't specifically recall.

13 Q. All right. But it's your testimony, I take it,  
14 that in the absence of the aspirate initially coming up  
15 with something positive, there's no need to do another  
16 aspirate.

17 A. Remember, I had mentioned that the clinical  
18 course is important.

19 Q. I'm sorry, you're right.

20 A. And the patient did well, according to the  
21 observations that I was privy to, on the course of  
22 treatment.

23 Q. Yeah.

24 A. So to re-aspirate the knee would have not been  
25 indicated.

1 Q. Okay. Mr. Seibel ran you through very quickly  
2 the, I mean, what he did is he asked you, basically,  
3 two questions about Dr. Matejczyk's follow-up care, one  
4 was your opinion, which you gave, and you said it was  
5 fine during the month of December of 1987, and two, he  
6 said why, and you indicated well, she kept seeing him,  
7 right? And that was good medical care in this kind of  
8 a circumstance.

9 First of all, he was discharged without any  
10 antibiotic, correct?

11 A. That's right.

12 Q. All right. How many times did she see him in the  
13 month of December?

14 A. As I recall, two or three.

15 Q. And did she do any additional surgery during that  
16 period of time?

17 A. I think she did, yes.

18 Q. Yeah.

19 And she removed something that was on the surface  
20 of the knee.

21 A. That's right.

22 Q. And -- and she also took, once again, a culture,  
23 did she not?

24 A. A culture, yes.

25 Q. And was this from the surface of the knee as



1 well?

2 A. Yes, it was.

3 Q. It was a swab.

4 A. It was a swab.

5 Q. And just so the jury understands, that's where  
6 you take, what, a -- a cotton ball or -- or a --  
7 something with cotton on it and you take a specimen off  
8 of the -- the wound itself, and then you submit it for  
9 analysis.

10 A. That's true.

11 Q. Would you tell the jury what it grew out?

12 A. Again, I think it grew out staphylococcus aureus.

13 Q. And -- The same staph that he came back with on  
14 January 3rd?

15 A. Most likely.

16 Q. She didn't start him with any antibiotics, did  
17 she?

18 A. No.

19 Q. She didn't admit him to the hospital, did she?

20 A. No.

21 Q. You then said, and I tried to write this down as  
22 carefully as I could, that in your opinion, as of  
23 December 30th, his wound was superficial, and that it  
24 became infected, that is, into the body as you  
25 described it, later in the month.

1 Well, when later in the month did it become  
2 septic? I mean, we're talking about December 30th,  
3 Doctor.

4 A. We're talking about January now. He comes back  
5 into the hospital --

6 Q. January 3rd.

7 A. Yeah, comes back in January 3rd a sick man.

8 Q. Sure. Real sick.

9 A. And if --

10 Q. Almost -- almost dying.

11 A. Almost dying, right. He was -- Re had all the  
12 signs of a deep infection.

13 Q. Sure.

14 A. And I don't think there was any question in  
15 anybody's mind that that was what one calls a deep  
16 infection.

17 Q. All right.

18 A. Different kind of a patient profile than what we  
19 saw in December.

20 Q. Yeah.

21 My question though, was when did he get this  
22 totally septic condition later in the month of December  
23 if, in fact, she saw him on December 30th?

24 A. I -- I -- I was referring to January. Later in  
25 January.

1 Q. Well, wait a minute. I mean, there were only  
2 three days in January, then.

3 A. Well then, let's say it was early in January.

4 Q. Doctor, did you review the -- the records for the  
5 January admission?

6 A. Very superficially.

7 Q. All right. Well, let me show you the discharge  
8 summary from the January admission. Did you ever look  
9 at that, Doctor?

10 A. I never did.

11 Q. All right. If you'd look at it now with me.  
12 This is by a Dr. Glenn Butt, and it's a three-page  
13 clinical -- I'm sorry, four-page clinical resume  
14 fair- -- five-page clinical resume fairly detailed, and  
15 it goes into his past medical history, and this is  
16 before there was any lawsuit.

17 And in the, I guess the second full paragraph of  
18 the history -- And a history is an important part of a  
19 chart for a patient, isn't it?

20 A. It is.

21 Q. All right. Dr. Butt said "The patient has an  
22 infected right prosthetic knee, which was infected two  
23 months ago, which has grown mezlocillin resistant staph  
24 aureus."

25 Now, this is Dr. Butt who saw him throughout

1 January, and it was his opinion in -- in January of '88  
2 that in November of 1987 he had a totally infected  
3 right knee prosthesis.

4 MR. SEIBEL: Objection. " *overruled*

5 MR. ALLISON: Objection./ *ll*

6 THE WITNESS: Does it say "totally  
7 infected"?

8 BY MR. KAMPINSKI:

9 Q. Huh? I think so.

10 A. What's it say? Or does it say "infected"?

11 Q. I see. You're drawing a distinction between one  
12 kind of infection --

13 A. Absolutely.

14 Q. -- and another kind of infection.

15 A. I sure am.

16 Q. All right. But the infection you're saying he  
17 had was really not important, right?

18 A. It's important.

19 Q. Yeah.

20 A. It re- -- it requires further observation.

21 All right. We're not arguing about the fact that  
22 this is an infected knee, it's the location and the  
23 severity of the infection during the period of time  
24 that I was asked to review, which is specifically  
25 November/December of 1987. And it's my position that

1 at that time this was still considered a superficial  
2 infection.

3 Q. Doctor, you're insured by PIE, are you not?

4 A. That's true.

5 MR. SEIBEL: Objection. Move to  
6 strike.

7 BY MR. KAMPINSKI:

8 Q. All right. They are a mutual insurance company,  
9 correct?

10 A. As I understand it.

11 Q. Meaning --

12 MR. SEIBEL: Mr. Kampinski, may I  
13 have a continuing objection?

14 MR. KAMPINSKI: Sure.

15 MR. SEIBEL: Yeah.

16 BY MR. KAMPINSKI:

17 Q. Meaning that you are an owner of the company. As  
18 a mutual insurance company, that means all the insureds  
19 are owners, correct?

20 A. I guess so.

21 Q. All right. Dr. Matejczyk is also insured by PIE.  
22 Correct?

23 A. I don't know. I didn't know what her insurance  
24 carrier was.

25 Q. I see.

MC GINNIS & ASSOC'  
COLUMBUS, OHIO /

1 A. I had no privy to t

2 Q. Where did your -  
3 review?

4 A. I believe we

5 Q. Who paid them?

6 A. I don't know.

7 Q. Isn't it a fact, Doctor  
8 judgment is assessed against Dr.  
9 by PIE, that has a monetary impact o.  
10 it?

11 A. Not very much.

12 Q. But some.

13 Doctor, if -- if Mr. Cates' knee was draining,  
14 sore, swollen, inflamed, red, and he had a fever during  
15 the period of time that Dr. Matejczyk was seeing him in  
16 December, was her care and treatment appropriate of  
17 him?

18 A. I would like to know a little more about it. I  
19 would like to know what the deep culture showed, had  
20 the knee been aspirated, and all those things.

21 Q. Well, obviously, they weren't by her.

22 A. Well, then I -- I don't --

23 Q. You don't what?

24 A. I don't think that's the way the case was  
25 presented to me.

1 a. No, I -- I understand that. But my question is,  
2 if, in fact, that was the presentation, would your  
3 opinion be different?

4 A. Is that a hypothetical situation?

5 Q. No, it's a factual situation, sir.

6 I'll ask you to assume those facts to be true for  
7 purposes of answering the question.

8 A. Assuming that those were all present -- Would you  
9 give those characteristics to me again, please?

10 Q. Absolutely. Draining, sore, swollen, inflamed,  
11 red and a fever.

12 MR. SEIBEL: Can we get a time  
13 frame for your hypothetical?

14 MR. KAMPINSKI: I 'chink I gave it to  
15 him. In December of 1987.

16 MR. SEIBEL: Anytime during  
17 December of --

18 MR. KAMPINSKI: That's right.

19 MR. SEIBEL: -- 1987?

20 THE WITNESS: I'd be seriously  
21 concerned about that wound.

22 BY MR. KAMPINSKI:

23 Q. Well, I mean, what would your concern be?

24 A. That this is a serious infection of a deep  
25 nature. I'd want to study it further before I drew a

12 1 conclusion.

2 Q. Well, the question then is, would her care that  
3 she rendered or failed to render in December of 1987 be  
4 appropriate if those were, in fact, the presenting  
5 symptoms?

6 A. If it stopped there without the other information  
7 that we've talked about in this deposition, I would be  
8 concerned about her conclusions, but that wasn't all  
9 the information that was presented to me, there were  
10 other factors.

11 Q. Well, Doctor, do you remember being deposed in  
12 this case? Your deposition was taken in this case, do  
13 you remember that, sir?

14 A. Yes. Right.

15 Q. And it was taken on September 16th, 1991.

16 A. Correct.

17 Q. Right here in your office, correct?

18 A. Right.

19 Q. And there was a court reporter, you were sworn  
20 in, you were sworn to tell the truth, correct? You  
21 recall that?

22 A. I sure do.

23 Q. Do you remember the following questions and the  
24 following answers, Page 35, Line 2, "Question: Okay.  
25 If the wound didn't look healed or healing on the 30th,



1 say it was red, inflamed, swollen, would that change  
2 your opinion in this case?

3 "Answer: Yes.

4 "Question: How would it change your opinion?

5 "Answer: Then I would -- Then I would have not  
6 agreed with the treatment.

7 "Question: Okay. It would be inappropriate or  
8 below the standard of care?

9 "Answer: Yes."

10 Do you recall those questions and answers,  
11 Doctor?

12 A. (Witness nodded.)

13 Q. Okay. Is that still your testimony today?

14 A. Yes. I think I answered the question the same  
15 way.

16 Q. Well, I -- I -- I wasn't sure you did, which  
17 is --

18 A. Yeah.

19 Q. -- why I wanted --

20 A. Yeah.

21 Q. -- to refresh your recollection.

22 That is your opinion.

23 A. Yeah, it sure -- certainly is.

24 Q. And then Dr. Matejczyk would have been negligent,  
25 wouldn't she? According to what you just said.

1 A. In what regard?

2 Q. Well, in failing to do either further workup,  
3 hospitalize him, provide some treatment, as opposed to  
4 sending him out the door on December 30th.

5 A. Was that the characteristic of the wound on the  
6 30th?

7 Q. I believe it was.

8 ~~MR. SEIBEL: That would be a~~  
9 ~~factual dispute.~~

10 THE WITNESS: I'd like to see -- I  
11 don't recall that that was written in those specific  
12 terms, that that was a description of the wound that  
13 Dr. Matejczyk reflected in her office notes.

14 BY MR. KAMPINSKI:

15 Q. Well, you know, once again, I'll go back to  
16 the -- the fact that you weren't provided with Mr.  
17 Cates' deposition. I mean, could you please tell the  
18 jury why it is your attorneys didn't give you that, or  
19 why you didn't ask for it?

20 MR. SEIBEL:: Objection. *overruled*  
*sustained*

21 THE WITNESS: I don't know.

22 BY MR. KAMPINSKI:

23 Q. Well, I mean, if --

24 A. I can't answer that.

25 Q. -- if you're trying to analyze what something

1 looked like at a specific point in time, do you just  
2 automatically accept the word of the physician as  
3 opposed to try to gather all the evidence?

4 And if there's a dispute in the evidence, I mean,  
5 you wouldn't be the appropriate person to render an  
6 opinion as to a factual dispute, would you?

7 A. I -- Is there a documentation that gives another  
8 opinion as to how the wound looked on December the 30th  
9 that I wasn't exposed to?

10 Q. Well --

11 A. Because it was my understanding that Dr.  
12 Matejczyk saw the patient in her office at that time  
13 and felt that the wound was --

14 Q. Doctor, I'll show you Page 42 of Mr. Cates'  
15 deposition, and you can read along with --

16 A. Okay, sure.

17 Q. -- with me, if you would.

18 Question -- And this is a question by Mr. Seibel,  
19 and he -- he asked this question on May 9th, 1990  
20 before he even got you involved, before he sent you any  
21 of the materials.

22 "Question: In between the time you were  
23 discharged from the hospital and until you again saw  
24 Dr. Matejczyk, what was your general physical condition  
25 as it relates to your knee?

1 "I couldn't get around on it.

2 "Why not?

3 "Because it was draining, swore, swollen  
4 inflamed, red.

5 "Were you having any fevers?

6 "I would say yes."

7 Now, could you tell me why -- why it is you  
8 weren't given that information, Doctor?

9 MR. SEIBEL: Objection. *overruled*

10 MR. ALLISON: Objection. *"*

11 THE WITNESS: Is -- is this a  
12 physician?

13 BY MR. KAMPINSKI:

14 Q. No, this is Mr. Cates. It's his knee.

15 A. Oh, he's the patient,

16 Q. Yes, sir.

17 A. And he's making these observations himself.

18 Q. Yeah.

19 A. Did -- did he take his temperature?

20 Q. Yes, he did.

21 MR. SEIBEL: Objection. *That's*  
22 not true.

23 MR. ALLISON: Objection. */*

24 MR. KAMPINSKI: Well, his wife did. */*

25 BY MR. KAMPINSKI:

13 1 Q. Is that okay?

2 A. How high was it?

3 Q. Well, do you want to answer my questions or --

4 A. Well, I've -- I've got to have some information  
5 to answer your questions.

6 Q. Well, why weren't you given this information?

7 I mean, why are we sitting here now for your  
8 deposition that's going to be presented to a jury at  
9 trial and you're trying to obtain information as to  
10 this case that you've rendered an opinion on.

11 I mean, isn't that really the question? Why  
12 weren't you given this, Doctor, so that you could  
13 render a full and complete opinion to this jury?

14 A. I can't answer that.

15 Q. Well, maybe you can answer this question for me,  
16 is the diagnosis of a deep knee infection difficult  
17 most of the time?

18 A. It depends on the information you have presented.

19 Q. Well, do you need a high index of suspicion if,  
20 in fact, you have a possible deep knee infection?

21 A. It's one of the most difficult diagnosis to make.

22 Q. Okay, that was my earlier question.

23 My question now is, do you need to have a high  
24 index of suspicion if there's a potential infection?

25 A. Always.

1 Q. Okay. And if there is a delay in the diagnosis  
2 of a deep knee infection, that can have devastating  
3 effects on the patient, can't it?

4 A. It can.

5 Q. All right. When you were deposed, Doctor, you  
6 were asked -- and -- and I think you mentioned your CV  
7 before, and that contains these 80-plus articles that  
8 you've authored, and I think one of the reasons you  
9 said that you did that is to share your experience and  
10 to indicate what's appropriate and not appropriate,  
11 correct?

12 A. As I see it, yes.

13 Q. Sure.

14 A. In my observations.

15 Q. Okay. And to lend your expertise to other  
16 physicians out there who might, you know, be interested  
17 in reading your --

18 A. opinions.

19 Q. Sure.

20 And when your deposition was taken, you were  
21 asked about which of the articles you felt pertained to  
22 this particular case. Do you recall that?

23 A. Yes, I do.

24 Q. Okay. And I think, and you can refer to your CV  
25 if you want to, Doctor, and on Page 24 of your

1 deposition what you indicated was that references 2,  
2 13, 22, 24 and 29 were the appropriate references in  
3 your CV that might apply to this case.

4 And I -- I -- and I read that correctly, didn't  
5 I, 2, 13, 22, 24 and 29?

6 A. Right.

7 Q. Okay. I looked at your CV, Doctor, and one of  
8 the things that jumped out at me was a reference that  
9 you didn't tell us about, and that was No. 44. Would  
10 you please tell us what 44 is?

11 A. It's titled "Infections in Total Joint  
12 Replacement," it was published in the Ohio State  
13 Medical Journal in 1986, and co-authored by Dr. Hayter  
14 and myself.

15 Q. Okay. And that seems to be right on point,  
16 doesn't it, infections in total joint replacement?

17 A. Yes.

18 Q. Okay. Doctor, you've told this jury now twice  
19 under oath that the aspiration and deep knee joint,  
20 which apparently was negative in this case, was a very  
21 strong indicator that there was no deep knee infection,

22 And then I think on -- on the other occasion that  
23 I asked you, you said something even stronger than  
24 "very strong indicator." I mean, that's the conclusive  
25 evidence in this case.

1 I'm now quoting, sir, from your article that you  
2 authored in February of 1986, and if you want to, you  
3 can read along with me. And this is what you  
4 disseminated for purposes of other physicians becoming  
5 knowledgeable and indicating what's appropriate and  
6 inappropriate.

7 And I quote: "They also pointed out the  
8 difficulty of relying on joint aspiration and  
9 arthrography, which has a high false-positive and  
10 false-negative rate."

11 Do you recall writing that, Doctor?

12 A. Who are "they"?

13 Q. Well, you're -- you're referring to a recent  
14 study by Cherney and Amstuts.

15 A. Charnley.

16 Q. Right, Charn- -- Oh, I'm sorry.

17 A. And that article is specific to hip infections,  
18 not knee infections.

19 Q. Well, this is all joint infections. As a matter  
20 of fact --

21 A. No.

22 Q. -- on -- on the next -- on the next page you're  
23 dealing with a femur.

24 A. And that's a hip.

25 Q. All right.



14 1 A. And we do know that aspirations in hip infections  
2 are not as accurate as they are in knee because the  
3 joint is much deeper and it's much more difficult to  
4 get a good aspiration of a hip joint than it is a knee  
5 joint.

6 Q. Is it also difficult in a knee joint?

7 A. Not necessarily, because a knee joint is much  
8 more superficial. Much easier to get a needle into the  
9 knee joint than it is the hip joint.

10 Q. Well, presumably, these aspirations are done  
11 where you get aspirate out, and that's the point,  
12 that's the point you were making to the jury, whether  
13 it's hip or knee.

14 A. That's right.

15 Q. Right.

16 So if you get aspirate out and you get  
17 false-negatives in the hip, are you suggesting to this  
18 jury you can't get --

19 A. No.

20 Q. -- false-negatives in the knee? Is that your  
21 testimony under oath here, sir?

22 A. If you get a -- if you get a good aspiration out  
23 of either joint, that's a good indicator. It's just  
24 difficult to get a good aspiration out of a -- out of  
25 the hip joint.

1 Q. Well, that's not what it says,

2 A. And that's what I'm trying to say in that  
3 article.

4 Q. Doctor, that's not what it says. It says "They  
5 also pointed out the difficulty of relying on joint  
6 aspiration and arthrography...", not of getting an  
7 aspirate out, but on relying on them, "...which has a  
8 hol- -- high false-positive and false-negative rate."

9 In other words, they're getting aspirate out,  
10 it's how it's being read that's the problem, Correct,  
11 sir?

12 A. No, the problem is if they can't get a good  
13 aspirate out, therefore, the material isn't always  
14 indicating what's present in the joint.

15 Q. Well, you also told --

16 A. And that's what I meant when I wrote the article.

17 Q. Sure.

18 You also told Mr. Seibel that Gallium scanning,  
19 I'm not sure what you said about it, you just said it  
20 would have to be further evaluated.

21 You go on to say "Radionuclide scanning has been  
22 of benefit, particularly when combining technetium and  
23 Gallium scanning," right?

24 So it would have been of benefit in this case,  
25 wouldn't it, Doctor?

1 A. It could have been, but again, it's not all  
2 inclusive.

3 Q. It wasn't done, was it?

4 A. Wasn't done.

5 Q. You then go on to make suggestions for physicians  
6 in that article, and you make the following  
7 suggestions: "Not all of these patients will have  
8 obvious signs of infection so that a high index of  
9 suspicion is required."

10 Do you recall that?

11 A. Yes.

12 Q. All right. That would be true in this case too,  
13 wouldn't it?

14 A. Yes.

15 Q. Okay. "Most often a patient with an infected  
16 joint will have pain unrelieved by rest," correct?

17 A. That's right.

18 Q. All right. Did you seek to determine if that was  
19 true in this case?

20 A. I believe he was complaining of pain.

21 Q. Yeah.

22 A. He had pain in a lot of joints.

23 Q. All right. And "Treatment with oral antibiotics  
24 alone is to be condemned, as it may lead to either  
25 partial suppression or, worst of all, creation of

1 antibiotic resistance of the organism involved, or  
2 superinfection that would greatly reduce the chances of  
3 a successful outcome," correct?

4 A. Right.

5 Q. How was he treated?

6 A. I believe he was treated with antibiotics.

7 Q. Oral or IV?

8 A. I think it was IV --

9 Q. How about --

10 A. -- -amicin.

11 Q. How about when he was discharged, any  
12 antibiotics?

13 A. No.

14 Q. None at all.

15 A. No.

16 Q. You go on to say, "A delay in treatment of days  
17 to weeks may negatively influence the chances of  
18 successful eradication of infection."

19 Do you agree with that, Doctor?

20 A. Yes.

21 Q. That "It can then spread to the surrounding bone  
22 with the resulting osteomyelitis producing further bone  
23 loss as well as making it more difficult to cure the  
24 infection," correct?

25 A. Correct.

1 MR. KAMPINSKI: That's all I have.  
2 Thank you, sir.

3 - - -

4 CROSS-EXAMINATION

5 BY MR. ALLISON:

6 Q. Dr. Mallory, as you know, my name is Tom Allison,  
7 and I just have a few questions for you today. And you  
8 also know that I represent the hospital in this case.

9 A. Yes.

10 a. And that includes the infectious disease  
11 physicians and residents and fellows that were involved  
12 in Mr. Cates' care.

13 Doctor, would you agree with me that an  
14 orthopedic surgeon is trained to evaluate surgical  
15 wounds and to assess healing and whether or not an  
16 infection is present in any surgical wound or surgical  
17 incision?

18 A. Yes. I agree with that.

19 Q. And -- and they're qualified, "they" being  
20 orthopedic surgeons, are qualified by training and  
21 experience to make judgments on whether or not a  
22 surgical wound is healing?

23 A. I agree with that,

24 Q. And whether or not it's infected.

25 A. I agree with that.

1 Q. Would you also agree with me, Doctor, that the  
2 person in the best position to make the determination  
3 of whether a wound is healing and whether a wound,  
4 surgical wound may be infected, is the physician that  
5 actually looks at that wound as opposed to someone  
6 else --

7 A. That's --

8 Q. -- a layman?

9 A. That is true.

10 Q. And especially if that's the surgeon that's been  
11 involved in this particular individual's care for a  
12 period of time, four or five or six weeks.

13 A. I agree with that.

14 Q. So that wouldn't you agree with me, Doctor, that  
15 Dr. Matejczyk was in the best position to evaluate the  
16 status of healing and whether or not Mr. Cates' knee  
17 was infected on December 30th of 1987?

18 ~~MR. KAMPINSKI:~~ ~~Objection.~~ *W/don't*

19 THE WITNESS: I agree with that.

20 BY MR. ALLISON:

21 Q. And the observation by Dr. Matejczyk on December  
22 the 30th of 1987, I believe you stated for -- before  
23 was an important factor in determining the rationale of  
24 treatment and whether or not there should be a  
25 continued use of antibiotics; is that correct?

1 A. I agree with that,

2 Q. Okay. Now, in your practice you have a hospital  
3 practice and you also have your office here where we  
4 are today; is that right?

5 A. That is true.

6 Q. And in your hospital practice situation there are  
7 infectious disease consultants that you may call upon  
8 from time to time to consult with you on your patients  
9 in the hospital; is that right?

10 A. That is right.

11 Q. But in your office situation you don't have an  
12 infectious disease physician readily available to look  
13 at your patients when they come in for a follow-up  
14 visit, do you?

15 A. No.

16 Q. And there is nothing inappropriate with an  
17 orthopedic surgeon following up a surgical incision in  
18 his or her own right in evaluating whether the wound is  
19 healing and whether or not it's infected without  
20 needing to consult an orth- -- a -- an infectious  
21 disease specialist every time; is that correct?

22 MR. KAMPINSKI: ~~Well, let -- let me~~  
23 ~~just object, because those aren't the facts in this~~  
24 ~~case. -- but~~ go ahead.

25 I'm sorry, go ahead.

1 THE WITNESS: No, I don't think  
2 that's inappropriate for the orthopedic surgeon to make  
3 judgments on wound integrity.

4 BY MR. ALLISON:

5 Q. Doctor, you don't have any criticisms of the care  
6 and treatment rendered to Mr. Cates during November and  
7 December of 1987 by the infectious disease physicians  
8 at Cleveland Metropolitan General Hospital, do you?

9 MR. KAMPINSKI: ~~Objection.~~ *ultra*

10 THE WITNESS: It appears to be  
11 appropriate to me.

12 MR. ALLISON: Thank you, Doctor,  
13 that's all I have.

14 MR. SEIBEL: Doctor, a few more  
15 questions before we wrap this up.

16 - - -

17 REDIRECT EXAMINATION

18 BY MR. SEIBEL:

19 Q. I want to clear something up that Mr. Kampinski  
20 talked about on cross-examination.

21 Would you pull out the copy of the records, the  
22 Metro records, from November and December that I sent  
23 you almost two years ago now? And turn to the tab of  
24 Discharge Summary.

25 Now, while you may have forgotten that you looked



1 at that document, isn't that, in fact, the -- the  
2 document that Mr. Kampinski suggested I never sent you?

3 A. It is.

4 MR. KAMPINSKI: ~~Well, wait. I == I'm~~  
5 ~~going to object to the leading nature of the question~~  
6 ~~since the Doctor's testified that he never looked at~~  
7 ~~it, and your suggesting that he somehow forgot and now~~  
8 ~~he's remembered in the last two minutes I think is~~ *overruled*  
9 inappropriate, Mr. Seibel.

10 BY MR. SEIBEL:

11 Q. Doctor, is that the document that Mr. Kampinski  
12 discussed with you on cross-examination?

13 A. This is the specific document that he presented  
14 to me.

15 Q. All right. Now, was it reasonable for the  
16 doctors who were treated Mr. Cates to initially suspect  
17 a deep knee infection in him?

18 A. Certainly.

19 Q. What is the significance of Mr. Cates' status as  
20 a -- as a mezlocillin resistant staph aureus carrier?

21 A. Well, I think it's important to point out that  
22 this gentleman was found to be harboring this  
23 particular organism, staphylococcus aureus --

24 MR. KAMPINSKI: Excuse me. Excuse  
25 me.

1                   Objection. This goes beyond the scope of  
2 cross.

3                   MR. SEIBEL:               Well, you discussed  
4 staph aureus extensively on cross-examination --

5                   MR. KAMPINSKI:       All right.

6                   MR. SEIBEL:               -- and I asked him  
7 about that.

8                   MR. KAMPINSKI:       I -- I object. This  
9 goes beyond the scope of cross.

10                  THE WITNESS:           He had the -- he had  
11 this --

12 BY MR. SEIBEL:

13 Q.     Let's -- let's stop a minute and repeat the  
14 question.

15 A.     All right, please.

16 Q.     What is the so --

17                  MR. SEIBEL:               And you can have your  
18 objection.

19                  MR. KAMPINSKI:       Fine.

20 BY MR. SEIBEL:

21 Q.     What is the significance of Mr. Cates' status as  
22 a mezlocillin resistant staph aureus carrier?

23                  MR. KAMPINSKI:       And -- and let me  
24 object because that never came up in cross-examination,  
25 that he was an alleged staph aureus carrier. So this

1 ~~does go beyond the scope of cross.~~

2 THE WITNESS: Well, I think we need  
3 to define what a carrier is.

4 A carrier is a person who has an organism  
5 resident in all parts of their body, and the  
6 eradication of that particular organism is almost  
7 impossible.

8 And if you know the situation here, you  
9 know that one of the things that was discovered during  
10 this period of time, November and December of '87, that  
11 Mr. Cates was, in fact, a carrier, and that the  
12 staphylococcus aureus organism was identified not only  
13 in the knee wound, but in his ear, and in his nose, and  
14 on his skin. So he really had the organism everywhere.

15 BY MR. SEIBEL:

16 Q. Did the standard of care compel the doctors  
17 treating Mr. Cates to -- to order a Gallium scan for  
18 him during November and December of 1987?

19 A. I'm sorry?

20 Q. Did the standard of care compel the doctors  
21 treating Mr. Cates in November of 1980 -- November and  
22 December of 1987 to get a Gallium scan for him?

23 A. I don't think so.

24 Q. Would you tell the jury why not?

25 A. Because the infection had already been identified

1 on the surface. And as I say, the Gallium scan would  
2 have not differentiated between a superficial and a  
3 deep infection.

4 Q. Now, Doctor, I want you to assume for purposes  
5 of -- purposes of this question that Mr. Cates did not  
6 complain to Dr. Matejczyk of swelling, redness, or  
7 fever, or drainage when she last saw him on December  
8 30th, 1987 before he became infected-

9 Now, assuming those facts to be the case, does  
10 that change your opinion at all about your support of  
11 Dr. Matejczyk's care?

12 A. Well, it was my understanding that those  
13 particular elements were not present to any severe  
14 degree in the December 30 evaluation by Dr. Matejczyk.  
15 So I agree with her not doing anything further than  
16 what she did because of the way in which that condition  
17 was presented at that time.

18 MR. SEIBEL: I have nothing  
19 further.

20 MR. KAMPINSKI: Just a very few more  
21 questions, and I'll be done.

22 - - -

23 RECROSS-EXAMINATION

24 BY MR. KAMPINSKI:

25 Q. The -- the page you have open before you, just so

1       there's no confusion, earlier you -- you tried to  
2       indicate that on that discharge summary "infected right  
3       total knee arthroplasty" didn't necessarily mean deep  
4       knee infection, correct?

5       A.       That's right.

6       Q.       All right. But if it said "septic right knee"  
7       that would mean it, right?

8       A.       Septic is another word for infected.

9       Q.       Well, but --

10      A.       Usually has a more serious connotation.

11      Q.       Sure, because that does --

12      A.       But it doesn't differ- -- May I finish?

13      Q.       Sure.

14      A.       -- doesn't differentiate between superficial and  
15      deep.

16      Q.       Well, Doctor, sepsis is -- is an infection of the  
17      bloodstream, isn't it?

18      A.       That's right.

19      Q.       So that's not superficial, that's very deep,  
20      isn't it?

21      A.       Yeah, but sepsis of the bloodstream is not sepsis  
22      of the knee. They're -- they're terms --

23      Q.       Well, how does it get into the bloodstream?

24      A.       -- they're terms that are used interchangeably,  
25      but they don't mean the same thing.

1 Q. So if it said "septic total knee," that would  
2 indicate to you that it was deep knee?

3 A. It would mean that it's an infected knee.

4 Q. Deep knee, as opposed to superficial?

5 A. It could be superficial or deep.

6 Q. "Septic" could mean superficial, that's your  
7 testimony under oath, sir?

8 A. No, I'm saying that a lot of times the term  
9 "septic" is used interchangeably for -- for infected,  
10 and a septic process involves a infection of the  
11 bloodstream, septicemia, and it's a misnomer to use it  
12 in a joint description.

13 And it usually is used to emphasize that this is  
14 a serious infection --

15 Q. Okay. Wouldn't --

16 A. -- and serious infections generally mean that  
17 there's both superficial and deep involvement.

18 Q. Okay. Would you turn to the second page of that  
19 discharge summary, sir?

20 And the first line of Hospital Course, right  
21 there (indicating), "Infectious Disease saw the patient  
22 for probable septic total right knee with superficial  
23 furuncle over right patella."

24 So that would be, according to what you've just  
25 indicated, a deep, serious infection that he had when

17 1 he was seen in November; is that correct, sir?

2 A. Possibly the way you would read that, but the  
3 word is prefaced by the word "probable," which means it  
4 wasn't all inclusive.

5 Q. Okay. And if, in fact, it was a septic total  
6 right knee, then what would be the appropriate  
7 treatment for that, Doctor?

8 A. Would have been to extirpate the prosthesis and  
9 to radically debride the wound.

10 Q. When you say "extripate," that means remove it.

11 A. Remove it, yes, sir.

12 Q. And that wasn't done, was it?

13 A. In this situation it wasn't done because it was  
14 perceived to be a superficial infection.

15 MR. KAMPINSKI: Okay. Thank you.  
16 That's all I have.

17 MR. ALLISON:: Nothing further,  
18 thank you.

19 MR. SEIBEL: Nothing further.

20 VIDEO TECHNICIAN: Doctor, you have the  
21 right to view the videotape, or you can waive the  
22 right.

23 THE WITNESS: I waive it.

24 (Signature waived.)

25 - - -

1 (Thereupon, the deposition was  
2 concluded at 5:47 o'clock p.m.,  
3 on Monday, April 20, 1992.)

4 - - -

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25



C E R T I F I C A T E

- - -

The State of Ohio, )  
 ) SS: .  
County of Franklin, )

I, Maria DiPaolo-Jones, C.M., Registered  
Professional Reporter and Notary Public within and for  
the State of Ohio, hereby certify that the foregoing is  
a true and accurate transcript of the deposition  
testimony, taken under oath on the date hereinbefore  
set forth, of THOMAS MALLORY, M.D.

I further certify that I am neither attorney or  
counsel for, nor related to or employed by any of the  
parties to the action in which the deposition was  
taken, and further that I am not a relative or employee  
of any attorney or counsel employed in this case, nor  
am I financially interested in the action.

*Maria DiPaolo-Jones*  
\_\_\_\_\_  
Maria DiPaolo-Jones, C.M.,  
Registered Professional  
Reporter and Notary Public  
in and for the State of Ohio.

My commission expires:  
June 19, 1996.

- - -

COPY  
TRANSCRIPT