

IN THE CIRCUIT COURT OF HARRISON COUNTY
WEST VIRGINIA

- - -

KRISTEL F. COWAN, Executrix:
For the Estate of DENNIS :
MONROE COWAN and
Individually as the wife of:
the decedent,
Plaintiff,

vs.

AHMED HUSARI, M.D., et al., :
Defendants. : NO 98-C-554-2

- - -

THURSDAY, AUGUST 31, 2000

- - -

Oral deposition of SCOTT MANAKER, M.D.,
Ph.D., taken on behalf of the Plaintiff, held in
the offices of Capital Court Reporting at 1321
Arch Street, 6th Floor, Philadelphia, Pennsylvania
19107, commencing at 3:50 p.m. on the above date,
before Celeste E. Gazzara, a Court Reporter and
Notary Public.

CAPITAL COURT REPORTING
1321 Arch Street
6th Floor
Philadelphia, Pennsylvania 19107
(215) 636-9800

CAPITAL COURT REPORTING

A P P E A R A N C E S:

..
- BY: MICHAEL M. DJORDJEVIC, ESQUIRE
17 South Main Street
Suite 201 at Maiden Lane
Akron, Ohio 44308
(330) 376-6766
Counsel for Plaintiff

BY: CATHRYN N. LOUCAS, ESQUIRE
1307 Ontario Street, Suite 1700
Cleveland, Ohio 44113
(216) 622-1234
Counsel for the Plaintiff

FLAHERTY, SENSABAUGH & BONASSO, P.L.L.C.
. . BY: STEPHEN R. BROOKS, ESQUIRE
7000 Hampton Center, Suite 1
Morgantown, West Virginia 26505
(304) 598-0788
Counsel for Defendant, Ahmed Husari, M.D.

- - -

I N D E X

WITNESS:

PAGE

Scott Manaker, M.D., Ph.D.

By Mr. Djordjevic

5

- - -

E X H I B I T S

NO.

DESCRIPTION

PAGE

PX-1

Curriculum Vitae

9

- - -

— — —

PROCEEDINGS

— — —

(It is hereby stipulated and agreed by and among counsel that sealing, filing and certification are waived; and that all objections, except as to the form of the questions, be reserved until the time of trial.)

— — —

E X A M I N A T I O N

— — —

MR. DJORDJEVIC: Michael Djordjevic on the record for the Plaintiffs in this case, It is a few minutes before 4:00 p.m. on August the 31st, 2000.

This deposition of Dr. Manaker was originally scheduled to begin at 1:30 this afternoon. And unfortunately, apparently Mr. Brooks had some problems with connecting flights and had been delayed by about two and a half hours.

We have no problem in commencing the deposition at 4:00 as opposed to 1:30. Unfortunately, both Ms. Loucas and I must leave Philadelphia today tonight. The last flight out

1 of here is a little after 7:00.

2 So, we're going to have to adjourn at 6:30 at
3 the latest this afternoon. We would reserve our
4 right to reconvene the deposition if we don't
5 finish today at a mutually agreeable time and
6 place in the future.

7 And the only reason for that necessity to
8 reconvene is the late start today.

9 MR. BROOKS: I agree with that.

10 MR. DJORDJEVIC: That being the case, why
11 don't you swear the witness.

12 - - -

13 SCOTT MANAKER, M.D., Ph.D., after having been
14 first duly sworn, was examined and testified as
15 follows:

16 - - -

17 E X A M I N A T I O N

18 - - -

19 BY MR. DJORDJEVIC:

20 Q. Dr. Manaker, you heard my name is Mike
21 Djordjevic. I introduced myself to you briefly before
22 the deposition here this afternoon.

23 What I am going to be doing here this
24 afternoon is to ask you some questions under oath as if

1 on Cross-Examination.

2 My questioning isn't designed to trick you in
3 any way. You have been identified as an expert in this
4 case. And by virtue of the rules of procedure, I am
5 entitled to discover what your opinions are, what the
6 basis of your opinions are in this case together with
7 the training, education and experience that enable you
8 to draw those opinions.

9 I am going to ask that you follow two simple
10 rules during the course of deposition.

11 First, we need all of your answers to my
12 questions to be verbal and out loud. The court
13 reporter can't take down an uh-uh or an uh-huh or a
14 yeah or a nah. So, please speak up.

15 Secondly, let me tell you that we all work
16 under the premise in these proceedings that if I pose a
17 question and you answer the question that you
18 understood the question, gave the best possible reply.

19 So, if you are confused by any question, if
20 you don't understand it, don't guess at it. Stop me.
21 I will rephrase it. It's important that we
22 communicate.

23 Fair enough?

24 A. Very fair.

1 Q. All right. Doctor, why don't you start by
2 stating your full name and spelling your last name for
3 the record, please?

4 A. Sure. It's Scott Manaker, M-A-N-A-K-E-R.

5 Q. And your Social Security Number, Doctor?

6 A. Is 081-54-8449.

7 Q. And your date of birth, Doctor?

8 A. 8/23/60,

9 Q. Okay. Doctor, I have had the opportunit to
10 just examine very briefly your CV dated August of
11 2000. I have been provided with a previous CV dated
12 April of 1998.

13 Can you tell me what addition or changes
14 there have been between April of '98 and August of
15 2000, please?

16 A. Without doing a line by line comparison?

17 Q. Sure.

18 A. I am not quite sure, but I would say the
19 general and most important features over the past two
20 years are I have been promoted academically. I am now
21 an associate professor of medicine in pharmacology.

22 I have been promoted administratively to
23 first associate chair. And I am now the interim vice
24 chair of the department of medicine at the University

1 of Pennsylvania for clinical affairs and for quality.

2 Other salient differences since 1998 would be
3 the winning of a teaching award in 1998 for bringing
4 basic science to the clinical bedside. That would be
5 the Leonard Berwick Memorial Teaching Award.

6 And since 1998 I have continued probably all
7 of my professional societies and committee work as
8 listed on the document you previously had.

9 Q. All right.

10 A. I have continued to serve on a number of,
11 increasing number of hospital and health system
12 committees, especially focusing on quality improvement
13 programs and also given many, many talks on a variety
14 of clinical and administrative topics.

15 Q. And we'll discuss that a little bit more in
16 detail as we go.

17 Also, just on the front page of your CV
18 apparently you have changed both your home address and
19 your office address?

20 A. Yes.

21 Q. Between '98 and the present time; is that
22 correct?

23 A. Yes. I was speaking with Ms. Loucas about
24 that earlier. I have changed home residence when our

1 children were born and I changed academic
2 administrative offices with my promotions.

3 Q. Doctor, have you ever submitted to deposition
4 before today's date?

5 A. Yes.

6 - - -

7 (Whereupon, a Curriculum Vitae was marked
8 PX-1 for identification by the Court Reporter.)

9 - - -

10 BY MR. DJORDJEVIC:

11 Q. And before we discuss that, Doctor, let me
12 show you what's been marked as Plaintiff's Exhibit-1
13 and see if you can identify that on the record for us
14 on the record?

15 A. Yes. That looks like one of the copies of my
16 CV dated August 2000.

17 Q. That would be the most complete and
18 up-to-date current CV that you have?

19 A. Yes. That would be the most complete one.
20 There may very well be another one dated August
21 floating around from earlier in the month.

22 My secretary usually updates it on average
23 once a month, sometimes twice depending on the volume
24 of things changing.

1 Q. Okay. Going back to what I had started to
2 ask you about, you have had your deposition taken in
3 the past?

4 A. Yes.

5 Q. And can you give me an idea of how many times
6 that's happened?

9 A. I could give you a range. Somewheres on the
a order of two to eight times a year depending on the
9 year.

10 Q. Over what length of time?

11 A. Since I was appointed to the faculty. It
12 took me a couple of years to really establish a
13 practice and see enough patients that people would be
14 interested in what my opinions were.

15 So, probably starting since 1992 to 1994 I
15 would say.

17 Q. All right. So, from 1992 to 1994 until the
18 present date, you have testified by way of deposition
19 two to eight times a year?

20 A. Something like that.

21 Q. And if my math is correct, that would be a
22 minimum of 6 to a maximum of 64 depositions, something
23 on that order?

24 A. Yeah. If you were going to multiply it up I

1 would say --

2 Q. I guess 12?

3 A. On the lower end than 64. Probably
4 somewheres in the 30 to 50 range.

5 Q. All right. And can you tell me in which
6 context you have given deposition testimony in the
7 past?

8 A. Sure. Either deposition or Affidavits or
9 live testimony. It covers a range of things from
10 malpractice work, both plaintiffs and defense;
11 disability work plaintiffs and defense, and even as an
12 expert on clinical trials in some securities fraud
13 cases.

14 Q. Let's see if we can break that down a little
15 bit.

16 You have testified in other medical

18 A. Yes.

19 Q. Besides the present one; is that right?

20 A. Yes.

21 Q. Can you give me your best estimate, and I
22 appreciate it's only an estimate, as to how many other
23 medical malpractice cases you have testified in?

24 A. To be honest I have no idea what the relative

1 range would be among the three.

2 Q. So, it would be in the range of you couldn't
3 even guess?

4 A. If you said roughly a third for each it
5 probably wouldn't be too far off from truth.

6 Q. So, roughly a third as an expert in medical
7 malpractice cases; correct?

8 A. Yeah. Maybe a little less than a third for
9 clinical and a little more than a third for the other
10 two.

11 Q. When you say for clinical, what do you mean
12 by clinical?

13 A, I meant as an expert regarding clinical
14 trials and the conduction of conductive research.

15 Q. And what was the third category that you
16 discussed with me? We've got medical malpractice --

17 A. Disability and clinical trials.

18 Q. And roughly a third for disability hearings
19 of some sort?

20 A. Right.

21 Q. Do you know how it is that attorneys became
22 aware of your availability to review cases for medical
23 malpractice or for clinical trials or for disability?

24 A. A variety of sources. Sometimes it's because

1 I'd seen the patient in the context of clinical care.
2 Sometimes it's because they found me hunting around
3 talking to friends, colleagues, neighbors,
4 acquaintances.

5 Sometimes they found me by hearing of my
6 testimony or presentation at other trials or
7 depositions, but again by word of mouth.

8 Q. Have you ever or do you currently advertise
9 your availability as a potential expert witness?

10 A. I do not advertise.

11 Q. Have you ever in the past?

12 A. I have not advertised.

13 Q. And that would go all the way back to 1992,
14 you have never listed your name with an expert service?

15 A. I am listed with a couple of services.

16 Q. All right. Which services are you listed
17 with?

18 A. I think I am listed with Expert Resources.

19 Q. And where is that from?

20 A. In Illinois in a suburb of Chicago. I think
21 they are in Peoria.

22 Q. Any other services that you are listed with?

23 A. I believe I am also listed with Med Quest in
24 New York

1 Q. All right. Is that Dr. Lerner's service by
2 any chance?

3 A. I believe that's right.

4 Q. And how did it come to pass that you became
5 listed with Expert Resources in Chicago and Med Quest
6 in New York?

7 A. One of my colleagues recommended Expert
8 Resources and I -- and gave me some information about
9 them. And so, I sent my CV into them.

10 Med Quest was a referral of a friend. It
11 turns out one of the medical residents at the hospital
12 one of my trainees was a college friend, and perhaps it
13 was Dr. Lerner or somebody else associated with Med
14 Quest, and was looking for individuals who would be
15 interested and solicited me.

16 I said, sure. I am always happy to hear
17 about cases, see patients.

18 Q. All right. And have you gotten cases for
19 review as a consequence of your being a party to these
20 referral sources?

21 A. Yes.

22 Q. Can you give me an estimate as to how many
23 cases you have reviewed as a consequence of being
24 associated with Expert Resources in Chicago or Peoria?

1 A. I would say to the best of my recollection it
2 would be less than five or six from each.

3 Q. All right. And would those all be medical
4 malpractice cases?

5 A. All of those cases were medical malpractice
6 for plaintiffs,

7 Q. And those cases were all cases that you
8 reviewed for plaintiffs? Am I understanding you
9 correctly?

10 A. Yes.

11 Q. Do you recall any of the issues involved in
12 any of the cases that you reviewed for plaintiffs from
13 either Expert Resources or Med Quest in New York?

14 A. It depends what you mean by issues. I am not
15 sure your use of the word issues would be my use of the
16 words issues.

17 Q. Let's start about medical issues?

18 A. You mean medical problems, medical diagnoses
19 and conditions?

20 Q. Sure. That's fine. I can live with that.

21 A. Okay. Pneumonia, pulmonary emboli, plural
22 effusions. It's been many years.

23 To the best of my recollection that would
24 kind of be the range.

1 Q. Now, in the cases that you reviewed from the
2 services that we're discussing on behalf of plaintiffs,
3 did you find merit in those cases or not find merit in
4 those cases?

5 A. Yes.

6 Q. Which is it? You yes --

7 A. Some of them I found merit and some of them I
8 didn't find merit.

9 Q. And what was the breakdown between mer tless
10 cases and meritorious cases?

11 A. Probably 50/50. Again, I am roughly
12 speaking.

13 Q. Now, how do the cases come to you from one of
14 these referral sources? Does someone from the
15 corporate office contact you?

16 A. Yes.

17 Q. And then what's the next step?

18 A. They ask if I am still interested in
19 reviewing cases and I say yes or no.

20 Q. All right.

21 A. And then they say, do you mind if we contact
22 such and such an attorney, such and such a firm? And I
23 say, no, unless there is some reason I wouldn't want
24 them to be contacting me.

1 And then they gave me a call at home, tell me
2 a little bit about the case and send me the records.

3 Q. Then do you make a contract or a deal for
4 your charges with the attorney directly, or is that in
5 some way --

6 A. It goes through the services.

7 Q. **So**, the services have a standard charge? Is
8 that how it works?

9 A. I tell them what my charges are.

10 Q. And does a check then come to you from the
11 lawyer or the law firm, or does it come to you from the
12 referral corporation be it Expert Resources or Med
13 Quest?

14 A. It comes from the corporation.

15 Q. And do you know what those corporations
16 charge for their services to lawyers?

17 A. I do not.

18 Q. Do you currently have any cases that you are
19 working on for either Expert Resources or Med Quest?

20 A. What do you mean by working on?

21 Q. That you are reviewing, that you are in the
22 process of formulating opinions on, that you have
23 testified by deposition for already, waiting to --

24 A. Well, that's now three questions.

1 Q. I am trying to give you a definition of what
2 I mean. Any of those would qualify as working on?

3 A. There are none that I am currently working on
4 reviewing. There are none that I am scheduled to
5 testify for, There are one, maybe two that I have
6 issued reports that have been out there for years and
7 are as best I know still pending. I haven't heard for
8 years.

9 Q. So, there are one or two cases that are
10 outstanding? Is that a fair way of putting it?

11 A. Yeah. Outstanding would be a reasonable way
12 of describing it.

13 Q. And are those outstanding cases here in
14 Pennsylvania or in other states?

15 A. Other states.

16 Q. Do you receive -- would it be fair for me to
17 say you received cases to look at from these referral
18 sources from all over the country?

19 A. Yes.

20 Q. And do you testify all over the country as
21 well?

22 A. I have not needed to, but I would be willing.

23 Q. Now, in addition to obtaining cases from
24 referral sources, you said that you obtained a

percentage of the cases that you review in the context
2 of medical malpractice litigation from word of mouth or
3 for reasons that you don't really know how the
4 attorneys get your name.

5 Is that fair?

6 A. Yes.

7 Q. And of the medical malpractice cases that
8 we're talking about, what percentage of those would you
9 say come from the referring corporations and what
10 percentage come by means of some other mechanism?

11 A. Probably a quarter -- let's see. I don't
12 know.

13 Q. Well, let's ask it this way. Would more of
14 the cases, again limiting ourselves to the context of
15 medical malpractice, would more of the medical
16 malpractice cases come from referral corporations like
17 Expert Resources and Med Quest or would more come by
18 other mechanisms?

19 A. More would come by other mechanism.

20 Q. Do you know how it is that Mr. Brooks came to
21 get your name in this case?

22 A. Yes. Mr. Brooks got my name from several of
23 his colleagues at their firm, Flaherty, Sensabaugh and
24 Bonasso.

1 Q. And do you know how it is that Mr. Brooks'
2 colleagues at the Flaherty law firm knew of you and
3 your ability to review medical/legal cases?

4 A. Yes. I was asked to review a case for
5 another firm in West Virginia. That other firm and
6 Flaherty, Sensabaugh and Bonasso were each defending
7 different defendants in that matter.

8 And so, I met one of Mr. Brooks' former
9 colleagues at that time.

10 Q. I see. As a codefendant?

11 A. Yes.

12 Q. Or as an attorney for a codefendant?

13 A. No. He was an attorney for codefendant.

14 Q. And what was the outcome of that particular
15 case, do you recall?

16 A. I believe it was a defense verdict.

17 Q. And you offered testimony in that case on
18 behalf of a pulmonologist or some other specialist?

19 A. I believe it was a family practitioner.

20 Q. So, we know that on one occasion you offered
21 expert testimony on behalf of a family practitioner;
22 correct?

23 A. Yes.

24 Q. I presume that in other cases you have

1 offered expert testimony on behalf of pulmonologists?

2 A. Yes.

3 Q. Have you offered expert testimony on behalf
4 of internists in the past?

5 A. Yes.

6 Q. Have you offered expert testimony on behalf
7 of critical care physicians in the past?

8 A. Yes. Recognizing that there is substantial
9 overlap between critical care and several of the
10 specialties you have previously mentioned.

11 Q. Have you offered expert testimony on behalf
12 of emergency physicians in the past?

13 A. I may have. I don't recall.

14 Q. All right. You do recall family practice,
15 pulmonology, internal medicine, critical care.

16 What other subspecialties or specialties of
17 medicine do you recall offering testimony on in the
18 past?

19 A. Surgery or surgical subspecialties when it
20 overlapped with areas within my field of expertise.

21 Q. *Any* others?

22 A. Not off the top of my head, but I wouldn't
23 exclude it.

24 Q. How about radiology?

1 A. I would say that radiology is an important
2 subset of my field and that in cases that I have
3 reviewed and testified for radiologists have been
4 involved as codefendants.

5 I don't recall specifically being asked to
6 speak on behalf of the radiologist, but, of course,
7 there would be overlap.

8 Q. Let me see if I can make my question a little
9 more focused and narrow and it might be easier for you
10 to answer.

11 Have you in the past rendered standard of
12 care opinions relative to standard of care to be
13 followed by radiologists?

14 A. No.

15 Q. Do you intend to do so in this case?

16 A. I would not be able to speak to -- well, I
17 would not be able to speak to the standard of care for
18 radiologists.

19 I would be able to speak to my expectations
20 of my expectations from radiologists in the field of
21 medicine that I practice.

22 Q. As consulting physicians?

23 A. Correct.

24 Q. All right. So you and I can agree and I can

1 safely go back to Northeast Ohio this evening, assuming
2 we get out of here, with the understanding that you
3 will not be offering standard of care opinions
4 concerning any radiologists in this case?

5 A. Correct.

6 MR. BROOKS: And I will reinforce that,
7 Michael.

8 MR. DJORDJEVIC: All right.

9 MR. BROOKS: He will be offering standard of
10 care testimony only with regard to Dr. Husari.

11 MR. DJORDJEVIC: Very good.

12 BY MR. DJORDJEVIC:

13 Q. Okay. Now, in addition to medical
14 malpractice litigation you had been involved in
15 clinical trials testimony; is that right?

16 You have got to verbalize for the court
17 reporter.

18 A. Yes.

19 Q. And can you explain to me a little bit about
20 what your involvement is in this clinical trials?

21 A. Certainly. There were aspects of clinical
22 trials, clinical research trials for development of new
23 products that would raise certain questions among
24 plaintiffs attorneys.

1 They asked me to review the data, be it basic
2 research data or clinical data, and comment on the
3 structure of the trial or the experiments, the validity
4 of the data, the validity of the data interpretations,
5 the conclusions that could be drawn and whether or not
6 statements made by various individuals were or were not
7 supported by the scientific data.

8 Q. These, as I understand it, clinical trials
9 involve the marketing of pharmaceutical products? Am I
10 understanding you correctly?

11 A.' I would say with the ultimate goal. Some of
12 the issues that I have been asked to look at did not
13 relate to trials that had made their way to clinical
14 development. They were still in the animal phase

15 Q. Are there any particular products that you
16 were involved with, generic names or --

17 A. Yes. One would be Centoxin,
18 C-E-N-T-O-X-I-N. The product which, in fact, was
19 never -- was ultimately never approved.

20 Q. And you were hired by whom in that particular
21 matter to review the clinical trial data?

22 A. The name of the attorney?

23 Q. Well, why don't you explain to me, first of
24 all, what the interest was of the party who hired you

1 in that situation?

2 A. Plaintiff.

3 Q. All right. And what was the plaintiffs
4 objection to the Centoxin medication?

5 A. I don't think they objected to the
6 medication. I think their objection was to statements
7 issued by officers of the company in regard to the
8 validity or utility of the product and the
9 interpretation of the clinical trials stemming from
10 trying to get the product to market.

11 Q. And which company was that that was
12 attempting to get Centoxin to market?

13 A. At the time it was Centocor, C-E-N-T-O-C-O-R.

14 Q. And where was this particular litigation
15 filed? Where was it pending?

16 A. It was filed in federal court and I don't
17 know.

18 Q. There's lots of federal courts. Can you help
19 me with a little more specificity?

20 A. I honestly can't tell you which district
21 federal court it would have been.

22 Q. Was the plaintiff in the Centoxin matter a
23 corporation or an individual?

24 A. Corporation.

1 Q. And which corporation was that?

2 A. Centocor.

3 Q. So, Centocor was the plaintiff?

4 A. I am sorry, Centocor was the defendant.

5 Q. That's what I thought. Who was --

6 A. I am sorry. I obviously misunderstood the
7 question

8 Q. That's fine. And please, if it becomes
9 obvious to you that we're not communicating as I told,
10 stop me and we'll try and re-connect.

11 Q. Who was the plaintiff in the case?

12 A. It was a class action suit. So, I imagine
13 there were a class of plaintiffs.

14 Q. All right. And do you recall who plaintiffs
15 counsel was in that case?

16 A. The woman who asked me to review the records
17 was an attorney named Carol Broderick,
18 B-R-O-D-E-R-I-C-K.

19 Q. And where is Attorney Broderick from?

20 A. She is here in Philadelphia. And the name of
21 her firm is Berger, B-E-R-G-E-R, Montague,
22 M-O-N-T-A-G-U-E.

23 Q. Other than the Centoxin case or litigation
24 that we've discussed, do you recall any of the other

1 cases that you have reviewed in the clinical trial
2 aspect of your previous history of testifying?

3 -A. I am sorry, do I recall --

4 Q. Do you recall any of the other matters that
5 you reviewed in the subset of cases that you reviewed
6 dealing with clinical trials testimony?

7 A. Yes.

8 Q. And what else, what other cases or issues do
9 you recall?

10 A. There was another similar case for another
11 product.

12 Q. All right. Do you recall which product that
13 was?

14 A. It was the Interleukin-1. Would you like me
15 to spell Interleukin? I-N-T-E-R-L-E-U-K-I-N, hyphen,
16 1. Receptor antagonist.

17 Q. And on whose behalf did you review the matter
18 involving Interleukin-1 inceptor antagonist?

19 A. The class of plaintiffs.

20 Q. And what was the substance of your testimony
21 or your opinion in that matter?

22 A. I don't recall the specific details at this
23 time. But once again, had to do with whether or not
24 the trials were properly conducted and the conclusions

1 drawn from the data were appropriate and consonant with
2 statements by officers of the company,

3 Q. And it was your opinion that the data were
4 not valid, is that --

5 A. I forget what exactly my opinions were, but
6 it would suffice to say that the data obtained
7 somewheres along the line downstream did not match up
8 with either the conclusions drawn at the time or the
9 statements made by officers of the company.

10 Q. Any other cases or matters that you can
11 recollect specific regarding in talking about the
12 subset of your testimony dealing with clinical trials?

13 A. Not off the top of my head.

14 Q. And as I understand it, the third area in
15 which you offer testimony is in the area of disability?

16 A. *Yes.*

17 Q. All right. And can you tell me about that?
18 In which contexts do you offer disability testimony?

19 A. Sure. Sometimes it's following my providing
20 clinical care for a patient. My evaluations and care
21 of them come to light and an attorney will ask me to
22 speak either on behalf of them or against the concept
23 of disability compensation.

24 Q. So, patients are sent to you for a

1 medical/legal examination? Is that --

2 A. That would be the second group. The first
3 group would be patients that I am just seeing for
4 routine care. They come to me for clinical care, other
5 physicians send them to me for a consultation.

6 I might care for them in the course of their
7 hospitalization and then at some future point, be it
8 months or more commonly I guess years later, someone
9 decided that I might be an appropriate individual to
10 speak either for or against the concept of disability
11 compensation.

12 Q. So, your testimony in that case in part of
13 the disability subset of matters that you review would
14 be incidental to your pre-existing doctor/patient
15 relationship?

16 A. Correct.

17 Q. There is another subset of the subset in
18 which attorneys or other entities send you patients for
19 evaluation, either for defense medical examinations or
20 for plaintiffs medical examinations. Is that fair?

21 A. Correct. I see patients and provide a
22 disability evaluation either for plaintiffs or
23 defendants.

24 Q. And where do you conduct those examinations,

1 Doctor?

2 A. Those are done at the hospital.

3 Q. And what would be the breakdown, again, I
4 understand we're dealing in rough numbers, between
5 examinations conducted at the request of plaintiffs
6 attorneys versus examinations conducted at the request
7 of defense attorneys?

8 A. I would say it is more common at the request
9 of defense than plaintiffs.

10 Q. And are these disability determinations made
11 for Social Security, for governmental agencies ~~of~~ that
12 nature by and large, or for other private insurers, or
13 don't you know?

14 A. To be honest, I wouldn't know. I would
15 suspect it's more common for Social Security
16 disability, but I know that there are clearly for other
17 disability policies by private insurers as well.

18 So, I could not give you the breakdown.

19 Q. And when is the last time that you performed
20 a disability examination that originated from an
21 attorney, be it a plaintiffs lawyer or a defense
22 lawyer?

23 A. I would say I did one in July.

24 Q. Last month?

1 A. Yes.

2 Q. Would you see those with some -- would you
3 see individuals whom you would examine with the purpose
4 of determining disability on a regular basis?

5 A. Yes.

6 Q. One a month on average? Is that fair?

7 A, I would say for disability purposes not even
8 one every two or three months. It's a fairly uncommon.

9 Q. Now, Doctor, you've in discussing your
10 current Curriculum Vitae with me pointed out that you
11 have had a promotion in academic rank between 1998 and
12 the present time; is that right?

13 A. Yes.

14 Q. And what is your current academic rank?

15 A. Associate professor.

16 Q. And you hold that rank with which
17 institution?

18 A. The University of Pennsylvania.

19 Q. Is that rank held with the medical school of
20 the University of Pennsylvania or the University of
21 Pennsylvania itself?

22 A. I think the answer is yes to both of those
23 entities.

24 Q. So, you hold associate professorship with the

1 University of Pennsylvania and with the medical school?

2 A. Yes.

3 Q. I guess the question -- and I have reviewed
4 your previous CV. I know that you are an M.D., Ph.D.

5 Do you teach both in the medical college and
6 in graduate school of biology at the University of
7 Pennsylvania?

8 A. I do not presently teach in the Graduate
9 Hospital of Liberal Arts, what they call the school of
10 Arts and Sciences where the department of biology is.

11 Although, what I imagine you are referring to
12 is that my Ph.D. Was given from the biology department.

13 Q. That's correct.

14 A. Yeah.

15 Q. Have you been in the past taught the pure
16 science aspect of the biology as opposed to teaching
17 medical students in terms of residents?

18 A. Not formally. I am trying to remember if I
19 have ever been asked to give an ad hoc lecture in
20 biology and I would say no.

21 I have given basic science lectures in the
22 pharmacology department, but that would be a basic
23 science department in the medical school, not the
24 school or Arts and Sciences.

1 Q. You're currently involved in didactic lecture
2 of medical students at the medical school affiliated
3 with the University of Pennsylvania?

4 A. I am,

5 Q. And do you teach certain courses at the
6 medical college?

7 A. I did. I just gave up supervising the entire
8 respiratory module this year because of my other
9 academic and administrative duties.

10 Q. All right. Are you currently still involved
11 in didactic lecturing of medical students --

12 A. Yes.

13 Q. -- on an ongoing basis? Can you give me an
14 idea of topics that you lecture on, Doctor?

15 A. Sure. I lecture on tuberculosis, on
16 tuberculosis skin testing PPD, sepsis, control of
17 breathing, sometimes pulmonary function testing.

18 Q. Anything else?

19 A. Off the top of my head that's it. Those
20 would be formal lectures that I am asked to give at
21 various times.

22 It would be lots of informal lectures in the
23 course of teaching rounds at the hospital where both
24 medical students and house staff, meaning interns and

1 residents in internal medicine, as well as rotating
2 residents from other specialties and fellows in
3 pulmonary and critical care.

4 Q. In the classroom, however, you teach
5 primarily about tuberculosis, tuberculosis skin
6 testing, pulmonary function testing and what else did
7 you say? Did I cover them all?

8 A. Did you get the control of breathing.

9 Q. No. That's the one I didn't get.

10 MR. BROOKS: He also said sepsis.

11 THE WITNESS: Sepsis.

12 BY MR. DJORDJEVIC:

13 Q. I am sorry.

14 A. And then in addition as the coordinator or
15 leader of what you used to be the pulmonary
16 pathophysiology course and then the subsequent
17 redesigned curriculum of the entire respiratory module,
18 I would teach in a normal classroom setting on a much
19 broader range of topics depending on who didn't show up
20 this for this small group session.

21 I would kind of be responsible for knowing
22 and picking up.

23 Q. You're using a team that clearly has meaning
24 to you. I don't know that it has any meaning to me,

1 respiratory module.

2 What do you mean by that?

3 A. Through 1997 the medical school curriculum at
4 the University of Pennsylvania was divided up along
5 classic disciplines where students took individual
6 courses in biochemistry, genetics, physiology,
7 pharmacology, and pathophysiology.

8 I apologize if I am going too fast for you.

9 In approximately 1997 the curriculum was
10 redesigned and entitled curriculum 2000 using a model
11 very similar to Case Western Reserve and Harvard where
12 all of the didactic material was divided up not along
13 classic scientific disciplines, but along cording
14 structures.

15 So, rather than a year to two years of
16 disciplinary basic sciences, the students received a
17 rapid six-month introduction to general principals.
18 And then for the next year to year and a half they
19 received all of the individual disciplines chopped up
20 along the teams of individual organs.

21 So, first, for example, they would get brain
22 and behavior. They'd get the anatomy of the brain, the
23 spinal cord, the physiology, the pharmacology, the
24 pathology and the pathophysiology including some basic

1 introductions to clinical neurology and clinical
2 psychiatry.

3 Then they would move on to the next organ say
4 the heart. They would have the basic anatomy of the
5 heart.

6 Q. I think I follow.

7 A. Followed by histology, by chemistry and so
8 forth.

9 So, I went from running the pathophysiology
10 course in the old-style curriculum to running the
11 entire respiratory module for all of the disciplines.

12 Q. So, in terms of your professional time, a
13 percentage of your professional time is consumed by
14 your teaching responsibilities? Fair?

15 A. It was. I had to markedly reduce that
16 because of my other administrative responsibilities.

17 Q. What currently would you say the percentage
18 of your professional time devoted to teaching would be?

19 A. Less than five percent with the caveat that
20 when I am on clinical service rotating in the intensive
21 care unit, the pulmonary consults of the advanced lung
22 disease service or even in the outpatient practice,
23 much of the clinical care that I render is done
24 simultaneously with clinical teaching.

1 Q. Now, in addition to teaching you said that a
2 larger portion of your professional day is now devoted
3 to administrative chores. Is that --

4 A. Yes.

5 Q. Am I understanding you correctly?

6 A. Yes.

7 Q. What type of administrative chores occupy
8 your time currently?

9 A. As the interim vice chair I am responsible
10 for overseeing aspects of the credentialing and
11 enrollment process.

12 MS. LOUCAS: I am sorry, what process?

13 THE WITNESS: Credentialing and enrollment.
14 Some physicians have to be appropriately
15 credentialed by the hospital, appropriately
16 licensures.

17 They have to be enrolled with Medicare or
18 other insurers and the proper payments to be made
19 by oversight of much of the practice, some aspects
20 of the practice, operations.

21 I am also responsible for quality improvement
22 within the department of medicine.

23 BY MR. DJORDJEVIC:

24 Q. And what percent of your professional time do

1 those administrative tasks occupy?

2 A. At this time it's about 50 percent.

3 Q. All right. And if my math is correct, that
4 would leave you with about 45 percent for active
5 clinical practice?

6 A. It's more like 50/50 or -- greater than 47.5
7 and greater than 47.5 and that leaves you less than
8 five percent for your normal didactic classroom
9 teaching as we have been describing.

10 Q. So that the record is clear, somewhere around
11 47.5 percent is for administration, somewhere about
12 47.5 is for active clinical practice, somewhere about
13 five percent is for didactic teaching?

14 A. Roughly.

15 Q. Is that a fair way of putting it?

16 A. Plus or minus a few percent that would be a
17 reasonable representation.

18 Q. Now, Doctor, do you maintain any type of an
19 office practice?

20 A. Yes.

21 Q. Describe for me the kind of office practice
22 that you have?

23 A. I am one of the 30 physicians, 30 attending
24 physicians in the pulmonary and critical care

1 division.

2 We have a group practice ourselves which is a
3 subset of the group practice in the department of
4 medicine which is a subset of the group practice of the
5 University of Pennsylvania.

6 Q. Is the pulmonary practice group, can we call
7 it that?

8 A. Sure, or the pulmonary division.

9 Q. Is the pulmonary division a corporation of
10 some sort?

11 A. No.

12 Q. Are you employed by anyone when you are
13 seeing patients?

14 A. Yes.

15 Q. By whom are you employed?

16 A. The clinical practices of the University of
17 Pennsylvania.

18 Q. So, would it be fair for me to say that you
19 are an employee of the University of Pennsylvania?

20 A. Yes.

21 Q. Would it be fair for me to say that you are
22 not in private practice?

23 A. Yes.

24 Q. Have you ever been in private practice?

1 A. No.

2 Q. And as a consequence of being employed by the
3 University of Pennsylvania, I take it that you see
4 patients of the University of Pennsylvania?'

5 A. I am not sure I understand the question.

6 Q. Well, do people, do patients come to you
7 because they want to see Dr. Manaker, or **do** they come
8 to you because they are aware of the pulmonary division
9 of the University of Pennsylvania, or is there some
10 element of both?

a1 A. Both, and many other means. They come
12 because they've heard of me, they come because they've
13 heard of the division, the department of the hospital,
14 the institution University of Pennsylvania, they come
15 because they've been recommended, they find us off the
16 internet, they find things we have written or spoken
17 about.

18 Q. Now, in reviewing your old Curriculum Vitae
19 it's become clear to me that you're board certified in
20 three areas; internal medicine, pulmonary medicine and
21 critical care?

22 A, Yes.

23 Q. As between those three areas, how do you
24 split your time?

1 A. Of my clinical work I would say probably 50
2 percent is critical care and 50 percent is pulmonary
3 medicine.

4 Q. So, on the typical day, your average week,
5 you would spend 50 percent of your time in critical
6 care medicine and 50 percent of your time in pulmonary
7 medicine?

8 A. No. It would depend on what week it was.
9 Some weeks would be devoted to more pulmonary medicine,
10 other weeks would be devoted toward more critical care
11 recognizing that many weeks there would be substantial
12 overlap.

13 But in general, it's going to be one or the
14 other.

15 Q. So, if we wanted to get to the 50/50
16 breakdown, we'd expand our time horizon if you analyzed
17 a given year it would probably work out --

18 A. 20-odd percent critical care, 20-odd percent
19 pulmonary disease recognizing again that there is
20 overlap between the two.

21 Q. You said 20-odd percent. Do you mean 50
22 percent of the active clinical practice?

23 A. Of the 47.5 roughly speaking that we were
24 talking about, something greater than 20 percent would

1 be in the pulmonary portion. Something greater than 20
2 percent would be in the critical care recognizing that
3 these-are very roughly approximations that I am making
4 off the top of my head and there is substantial overlap
5 between pulmonary and critical care.

6 Q. You and I can agree, and again we're speaking
7 roughly, and I understand that, that about one-fifth of
8 your professional time currently you devote to
9 pulmonary practice?

10 Is that a fair way of putting it?

11 A: No.

12 Q. Okay. What's -- where did I go wrong?

13 A, I would say that for my practice there is
14 really a continuum between pulmonary and critical
15 care. And it would not be fair to say that it was only
16 20 percent pulmonary of the 47.5 percent that we agreed
17 upon earlier.

18 It could be as much as 40 or 45 percent could
19 be interpreted as within a spectrum of pulmonary
20 disease and probably 30 to 40 percent could be in terms
21 of in the spectrum of critical care depending on how
22 you wanted to define it.

23 That's what I was trying to describe earlier
24 when I said there is really substantial overlap between

1 the two specialties.

2 Q. Let's see if we can hit upon something that
3 you will agree is fair.

4 We can agree, can't we, Doctor, that the bulk
5 of your time, more than 50 percent of your time, is
6 isn't spent -- more than 50 percent of your
7 professional time is spent in areas other than
8 pulmonary medicine; right?

9 A. Yes. And I would make that statement -- I
10 would agree with that statement based on something less
11 than 50 percent administrative and a small percent
12 teaching.

13 Q. I am not trying to break it down, but we can
14 agree using broad strokes that less than half your
15 professional time is spent in the practice of pulmonary
16 medicine; right?

17 A. Yes.

18 Q. Okay. Now, are you, for example, assigned as
19 an attending to an intensive care unit from time to
20 time? Is that how you get involved with the critical
21 care aspect of your practice?

22 A. Yes.

23 Q. Do you attend at a certain intensive care
24 unit, a surgical intensive care unit, a cardiology

1 intensive care unit, or do you float amongst various
2 ICUs?

3 A. It's primarily -- well, how can I answer
4 that?

5 My primary assignment when I'm assigned to
6 the medical intensive care unit is to see patients
7 there.

8 In the context of covering our group
9 practice, serving as a pulmonary consult attending, I
10 frequently see patients in the cardiac unit, the
11 surgical unit and the neurology unit.

12 Q. So, it is not uncommon for you to see
13 patients as an attending in any of a number of
14 intensive care units?

15 A. Yes.

16 Q. At the hospital? And are you involved in an
17 intensive care rotation now, or are you on pulmonary
18 part of your practice as we sit here today?

19 A. As we sit here today right now?

20 Q. What are you doing as we sit here today?

21 A. Today was administrative time.

22 Q. Let's expand the horizon from today to this
23 week.

24 Are you this week on an ICU rotation or a

1 pulmonary practice rotation?

2 A. This week I am doing pulmonary. So, I have
3 my three half days of outpatients.

4 Q. Do you have any patients admitted to the
5 hospital as we speak?

6 A. No.

7 Q. Do you generally have patients that you're
8 attending as the admitting physician in-house at the
9 hospital?

10 A. When I am on service.

11 Q. And when is the last time you were on
12 service?

13 A. July.

14 Q. Last month?

15 A. Yes.

16 Q. And last month, how many patients would you
17 have admitted on the pulmonology floor that you were
18 serving as the attending to?

19 A. Do you mean at any given day on average?

20 Q. On average?

21 A. Or over the course of rotation?

22 Q. On average is fine?

23 A. Somewheres between 10 to 15 patients a day.

24 Q. You would be rounding 10 or 15 patients a day

1 last month?

2 A. Weekdays. More patients on the weekends when
3 I would also cover the advanced lungs disease service.

4 Q. Now, Doctor, *you* have, I believe, established
5 that you're board certified in internal medicine,
6 pulmonology and critical care medicine.

7 Was it necessary for you to take any of the
8 certification exams on more than one occasion for any
9 of those?

10 A. No.

11 Q. *So*, in other words, you passed each of those
12 on the first attempt?

13 A. Yes.

14 Q. Doctor, let's review your education a little
15 bit. And thank you for providing your CV. That should
16 shorten the process of that.

17 Apparently you obtained an M.D., Ph.D. from
18 the University of Pennsylvania in 1985; is that right?

19 A. Yes.

20 Q. Was that as part of some kind of combined
21 program?

22 A. Yes.

23 Q. Can you describe for me what that program
24 involved? Is it a joint M.D., Ph.D. program?

1 A. Yes. I actually received an N.I.H. training
2 award to obtain both degrees.

3 Q. And were you involved in laboratory research
4 for the Ph.D. aspect of your degree at that point?

5 A. Yes.

6 Q. Tell me what kind of research you were
7 involved in?

8 A. Basic neuroscience including
9 neuropharmacology, neurochemistry and neuroanatomy.

10 Q. Your undergraduate degree was in neuroscience
11 from the University of Pennsylvania as well?

12 A. Yes.

13 Q. And then in 1985 apparently you began your
14 post-graduate education?

15 A. Yes.

16 Q. Is that correct? And tell me what you did in
17 PGY-1, please?

18 A. I was an intern in medicine at the Boston
19 City Hospital.

20 Q. PGY-2?

21 A. I was a resident in medicine at the Boston
22 City Hospital.

23 Q. PGY-3?

24 A. I was a fellow in pulmonary and critical care

1 medicine at the Hospital of the University of
2 Pennsylvania.

3 Q. All right. PGY-4?

4 A. The same.

5 Q. PGY-5?

6 A. The same.

7 Q. PGY-6?

8 A. Assistant professor of medicine at the
9 University of Pennsylvania.

10 Q. And would that be your last year of
11 post-graduate education PGY-6, or is there a PGY-7?

12 A. Well, maybe I misunderstood what you meant by
13 post-graduate year.

14 In 1990 was appointed to the faculty.

15 Q. Okay.

16 A. So, one interpretation of what you are saying
17 is that my post-graduate education ended and I was
18 appointed to the faculty. Another interpretation is
19 one is always continuing to be a post-graduate student
20 and continuing to learn and attending courses and so
21 forth.

22 Q. It's the same in law, Doctor.

23 A. I am well familiar with CLE programs.

24 Q. I can assure you. Your formal training in

1 terms of your fellowship training ended in 1990?

2 A. Yes.

3 Q. And is there a particular reason why you
4 chose to go into internal medicine with pulmonology and
5 critical care medicine as opposed to neurology or
6 something more having do with neuroscience?

7 A. Sure. Actually, applied and entered medical
8 school with the intent of becoming a psychiatrist, but
9 in medical school I found that I didn't enjoy the
10 clinical practice of either psychiatry or neurology. I
11 liked internal medicine.

12 So, I looked for a field in which I could
13 combine my interests in how the brain controlled
14 physiology and my interest in internal medicine. It
15 seemed that pulmonary disease with control of breathing
16 and with control of respiratory motor neurons seemed
17 like a very natural path for me.

18 Much to my surprise, as much as everybody
19 else's, when I started on the path of pulmonary and
20 critical care training I found I enjoyed pneumonia,
21 asthma, obstructive lung disease and lung cancer, as
22 well as all the other aspects of pulmonary and critical
23 care medicine.

24 So, ultimately it turned out to be a very

1 good fit.

2 Q. And in reviewing your publications, Doctor, I
3 noticed you seem to have published substantially on
4 septic shock and sepsis and neuromotor response of the
5 lungs and chest.

6 Are those particular interests of yours?

7 A. I would say that anything I have written on
8 reflected an interest of mine.

9 Q. I didn't notice any writings in your 1998 CV
10 having to do with cancer or carcinoma of the lungs?

11 A: Correct.

12 Q. Are there any writings or any publications
13 having to do with carcinoma of the lungs in the August
14 2000 CV?

15 A. I would say there is no primary peer review
16 publications, nor are there any specific chapters on
17 lung carcinoma.

18 I would say that lung cancer, lung nodules
19 are probably referred to in many of the textbooks that
20 I have edited.

21 Q. Let me ask if we can agree that you have
22 authored no publications dealing specifically with the
23 diagnosis of non-small cell lung carcinoma.

24 Would that be fair?

1 A. Correct.

2 Q. Would it be fair for me to say as well that
3 you have authored no publications dealing specifically
4 with the treatment for non-small cell lung carcinoma?

5 A. Correct.

6 Q. Again, in reviewing, and pardon me because I
7 didn't have your August 2000 CV, **but** in reviewing the
8 1998 CV, I didn't know -- I didn't note, rather, any
9 presentations dealing with the diagnosis of non-small
10 cell lung carcinoma?

11 A. Correct.

12 Q. Are there any currently?

13 A. Not to my recollection.

14 Q. So, you have made in presentations dealing
15 with the diagnosis of non-small lung carcinoma; am I
16 right?

17 A. Correct.

18 Q. And you made no presentations dealing with
19 the treatment of non-small cell lung carcinoma?

20 A. Correct.

21 Q. And again, in reviewing your previous
22 Curriculum Vitae, I didn't note or I failed to note
23 that you did any research of any sort dealing with the
24 diagnosis of non-small cell carcinoma?

1 A. Correct.

2 Q. Is that true for the 2000 CV as well?

3 A. Yes, it is.

4 Q. And again, no research dealing with either
5 the treatment or the diagnosis of non-small cell
6 carcinoma; am I right?

7 A. Yes.

8 Q. Doctor, have **you** yourself been a party to
9 litigation over the course of your career?

10 A. I don't understand the question.

11 . . Q. Have you been a party of a lawsuit, either
12 been a plaintiff or a defendant?

13 A. Let me think for a minute. I have been. I
14 am not sure actually if this is the correct answer to
15 your question.

16 Regarding plaintiffs I was the victim, my car
17 was the victim of tire slashings. So, in that sense I
18 was the plaintiff testifying against the person that
19 the police identified as the person who slashed the
20 tires.

21 In terms of being a defendant in a lawsuit, I
22 have been named in two suits to the best of my
23 recollection.

24 Q. And would those be suits that arose in the

1 context of medical malpractice litigation?

2 A. Yes.

3 Q. Do you recall what the issues were in any of
4 those cases?

5 A. Yes.

6 Q. Why don't you tell me about that?

7 A. Sure. In the first case it was a patient
8 with chronic respiratory failure and who was ventilator
9 dependent and cared for by many years by one of my
10 colleagues.

11 And the patient and there family were not
12 happy with the outcome of one of the hospital
13 admissions and they sued my colleague.

14 After several months and some discussions the
15 suit was dropped. I was named along with everybody
16 else who had ever cared for the patient during that
17 hospital admission.

18 Q. And that case was dismissed against you
19 essentially I take it?

20 A. I don't know if it actually made it to the
21 point of being dismissed. It was just withdrawn by the
22 family.

23 Q. That was litigation pending here in
24 Philadelphia County?

1 A. Yes.

2 Q. And there was a second case as well?

3 A. Yes.

4 Q. And what was that case involved?

5 A. That was a case of a gentleman with an
6 unusual salivary gland tumor who I had seen and
7 performed a bronchoscopy for hemoptysis.

8 I, along with every other physician who cared
9 for him, was sued in a medical malpractice case. The
10 case was dismissed on summary judgement.

11 Q. And that would be pending here in
12 Pennsylvania?

13 A. I don't think it's pending. I think it's
14 closed several years ago.

15 Q. That would have been pending in Philadelphia
16 County as well?

17 A. Yes.

18 Q. And can you tell me which law firm
19 represented you in those cases?

20 A. Something Christie, something and Parabue.

21 Q. That's close enough.

22 A. I am sorry. It's been several years. I
23 haven't had any contact with them.

24 Q. And --

1 A. Morton -- hang on. Mortonson, Christie,
2 something and Parabue, P-A-R-A-B-U-E.

3 Q. And I take both of those cases arose as
4 consequence of patients that you were seeing while you
5 were an employee of the University of Pennsylvania?

6 A. Yes.

7 Q. And the University of Pennsylvania, I take
8 it, provided counsel for you in that case? Is that
9 what happened?

10 A, Yes.

11 Q. And does the University of Pennsylvania
12 provide medical malpractice insurance coverage for you
13 as well?

14 A. Yes.

15 Q. Do you know who the carrier is by any
16 chance? What the insurance company is?

17 A. I believe it's PMSLIC, P-M-S-L-I-C.
18 Pennsylvania Medical Society, I guess, Liability
19 Insurance Company.

20 Q. There is a lot of those in Akron.

21 A. Yeah. Right. I am not quite sure.

22 Q. Okay. Doctor, during the course of your
23 career have you had occasion in your own practice to
24 make the diagnosis of non-small cell lung cancer in

1 your own patients?

2 A. Yes.

3 Q. All right. And can you tell me how often you
4 have done that?

5 And again, let's talk in rough numbers. I am
6 not going to hold you to any specific figure.

7 A. Greater than a hundred and less than a
8 thousand.

9 Q. And how have you done that in the past? How
10 have you made the diagnosis of non-small cell lung
11 carcinoma or cancer in the past?

12 A. I would say by obtaining appropriate tissue.

13 Q. And did something prompt you in those cases
14 to obtain appropriate tissue?

15 A. Yes.

16 Q. What, if you would, so that there is no
17 mystery between us, explain to me the sequence of
18 events that would lead you in the course of your
19 treatment of your patients to obtain a biopsy and
20 determine that one of your patients had non-small cell
21 lung carcinoma?

22 A. Let me try and do this in broad strokes
23 Patients would present with a sign, a symptom, a
24 complaint that would lead to the observation that

1 something was abnormal be it on physical examination,
2 chest radiographs, be they routine chest x-rays or CT
3 scans.

4 Sometimes the abnormality might be in a
5 different organ from a metastasis. The appropriate
6 diagnostic plan would be followed to get a piece of
7 tissue and make the diagnosis.

8 Q. All right. I made a note in listening to
9 your answer that on occasion, at least, you have
10 launched a diagnostic workup culminating the diagnosis
11 of non-small cell carcinoma as a result or as a
12 consequence of something that you saw on a chest film?

13 A. Yes.

14 Q. Am I communicating?

15 A. Yes, we are.

16 Q. And with what frequency has that happened in
17 your career where you have seen something on a chest
18 film that caused to you commence a diagnostic workup?

19 A. I couldn't begin to tell you.

20 Q. Has it happened more than a couple times?

21 A. Yes.

22 Q. And in the chest films that we're referring
23 to in your own practice with your own patients, would
24 the finding that you see on the chest film be what you

1 would describe as an incidental finding?

2 A. Sometimes. Sometimes it's not incidental
3 because I have a good reason to go looking for it.

4 Q. So, sometimes you request or you 'order a
5 chest film, the indication for the film being to rule
6 in or rule out a lesion of some type in the lungs; is
7 that right?

8 A. Yes.

9 Q. And sometimes you order the x-ray and as an
10 incidental finding you see something that eventually
11 turns out to be non-small cell lung cancer?

12 A. Right. Sometimes the x-ray is ordered for
13 other purposes and it's an incidental finding.
14 Sometimes it's not even a chest radiologic study. It's
15 a study obtained for some other purposes and an
15 abnormality is found within the chest.

17 An example would be an abdominal CT scan or a
18 lumbar spine scan which will sometimes include cuts of
19 the bases of the lungs and a nodule or mass would be
20 found.

21 Q. Now, Doctor, once a diagnosis in your patient
22 is made of non-small cell lung cancer, are you still
23 involved in the treatment of that patient, or does that
24 patient go see another specialist at that point?

1 A. Yes and yes. Yes, I am involved and yes,
2 they will see other specialists.

3 Q. Explain both to me how are you involved and
4 how does the patient go to see another specialist?

5 A. I am involved on -- I remain involved
6 depending on whether or not the patient would like for
7 me to be involved and I have something to offer.

8 Usually that's someone with concomitant
9 pulmonary medical illnesses like coexistent asthma,
10 bronchitis, emphysema and so forth.

11 Other specialists are involved depending on
12 what the appropriate treatment is be it surgery,
13 radiation therapy, chemotherapy.

14 Q. Can you and I agree, Doctor -- and I am
15 trying to narrow the focus of the questions that I am
16 going to have to continue with you.

17 Can you and I agree that you ordinarily do
18 not treat patients who have been diagnosed with
19 non-small cell lung cancer for their cancer? Those
20 patients are ordinarily referred either to a medical or
21 surgical oncologist or radiation oncologist? Is that
22 fair?

23 A. No. I wouldn't characterize it that way. I
24 would say all the physicians participating in the care

1 of that patient are caring for them.

2 . . . If are you asking me do I perform surgery, I
3 do not perform thoracic surgery. If are you asking me
4 do I perform radiation therapy, I do not administer
5 radiation therapy. If are you asking me do I
6 administer chemotherapy, I do not administer
7 chemotherapy for the purposes of treating lung cancer.

8 Q. Then explain to me if you would, and I will
9 ask it very open-endedly, what you do in your practice
10 to treat patients in your practice who have been
11 diagnosed with non-small cell lung cancer?

12 What do you do to treat them?

13 A. It depends on the patient, it depends on
14 their clinical course.

15 If they have other concomitant lung disease,
16 I treat them for their lung disease while they are
17 receiving their other treatment. If they are going for
18 surgery, I will often provide ventilator management at
19 the time of surgery and deal with any pulmonary
20 complications

21 Similarly, I would deal with any pulmonary
22 complications of either radiation therapy or
23 chemotherapy.

24 I would deal with intercurrent respiratory

1 illnesses or pulmonary problems while they are
2 receiving their other therapies.

3 Q. I might be misunderstanding you, and if I am,
4 I am sure you will correct me.

5 It sounds to me what you are describing is
6 that you will treat the patient **for** other situations
7 that might be complications of the treatment that the
8 patient is receiving for the lung cancer or other
9 underlying respiratory or pulmonary problems that the
10 patient has?

11 It doesn't sound to me in the description
12 that you just gave me that you are actually treating
13 the lung cancer itself?

14 Am I missing something, or am I understanding
15 you correctly?

is A. I would say I am not primarily treating the
17 lung cancer itself. I would agree with that.

18 Q. You and I can agree, and it's fair for me to
19 say that when the diagnosis in this case, we're
20 limiting our discussion to non-small cell lung cancer,
21 is made, the treatment for that particular disease
22 entity is primarily the province of another specialist,
23 and it might be any of the specialists that you have
24 already talked about; right?

1 A. Yes. With the caveat that there are certain
2 interventions that pulmonary physicians can do to
3 primarily treat lung cancer usually of a palliative
4 nature.

5 Q. And I would take it because of your qualifier
6 of the palliative nature, those types of modalities are
7 often or most likely offered to the patients who are in
8 advanced stages of cancer?

9 A. Yes. Yeah. Examples would be photodynamic
10 therapy, laser therapy, stint placement.

11 Q: **Now**, Doctor, would you consider yourself an
12 expert in the area of diagnosis of non-small cell lung
13 cancer?

14 A. I would say the diagnosis of non-small cell
15 lung cancers within the realm of pulmonary and critical
16 care medicine. And I am an expert in pulmonary and
17 critical care medicine.

18 Q. Do you consider yourself an expert in the
19 treatment of patients who are afflicted with non-small
20 cell lung cancer?

21 A. It depends on how you want to define
22 treatment. If you define treatment narrowly as we just
23 did, if you want to devote it specifically to treating
24 the lung cancer, clearly that is within the realm of

1 other specialties.

2 If you say treating patients with lung cancer
3 in the more broad description that we did a few moments
4 ago, then, yes, the treatment of patients with lung
5 cancers is within the field of pulmonary and critical
6 care medicine.

7 Q. I am trying to get a handle on what you do,
8 Doctor. And that's why I have to ask you these
9 questions.

10 If a patient comes in and you follow a
11 diagnostic workup that leads you to the conclusion that
12 the patient is a stage one -- you are familiar with the
13 international system for staging lung cancers?

14 A. I am.

15 Q. That, as I understand, that is developed by
16 Drs. Mountain and Lipshitz and the M.V. Anderson?

17 A. I would say that it is an overstatement to
18 say that those two physicians developed the staging
19 system.

20 And I would say that many other physicians
21 and many other individuals made substantial
22 contributions to the development of the system.

23 Q. I don't want to make any overstatements. You
24 are familiar with the international staging system,

1 let's put it this, that's described by Mountain and
2 Lipshitz?

3 A. They among many have described the system.

4 Q. Just to make sure we're talking about the
5 same staging system?

6 A. I think there is only one international
7 staging system.

8 Q. Under that international staging system, have
9 you on occasion made the diagnosis of a stage one
10 cancer?

11 A. Yes.

12 Q. In that situation when you, by whatever
13 workup you follow, arrive at the conclusion or arrive
14 at a high level of suspicion that your patient has a
15 stage one non-small cell carcinoma, do you refer that
16 patient out to another specialty area?

17 A. Yes.

18 Q. To whom do you refer that patient?

19 A. It depends on the patient. I would say that
20 the range would include thoracic surgery and radiation
21 oncology and medical oncology.

22 Q. Do you make all those options available to
23 the patient, or do you make a recommendation? What do
24 you do?

1 A. I usually say that there are several choices
2 including surgical therapy, radiation therapy or
3 chemotherapy and I would make a recommendation.

4 But I usually try to inform the patient of
5 all the various option and choices including the choice
6 of doing nothing, which I usually harshly recommend
7 against.

8 Q. For the stage one patient that you see,
9 Doctor, do a certain percentage of your stage one
10 non-small cell cancer patients have lung resections?

11 A. Yes. I would say the majority of patients
12 with a stage one lung cancer non-small cell will
13 ultimately go to a lung resection.

14 Q. And is it your understanding based on your
15 experience with your own patients or based upon the
16 literature as you understand it, that resection of
17 stage one non-small cell lung cancer patients offers
18 the best chance of cure for those patients?

19 A. I would say that it will be assuming the
20 absence of any other contraindication to surgery.

21 Q. Let's make the discussion a little more
22 general.

23 Are you familiar with either through your own
24 experience with patients, and I think you have already

1 told me that in your career from time to time you've
2 made the diagnosis of stage one non-small cell lung
3 cancer, for whom you have referred the patient to a
4 thoracic surgeon for lung resection; right?

5 A. Yes.

6 Q. All right. Based on your either your
7 experience with that type of patient or your
8 understanding of the literature concerning that type of
9 patient and that type of treatment, are you familiar
10 with any statistics regarding the survival of those
11 patients who undergo surgery?

12 A. Yes. There are many statistics available.

13 Q. All right. And what is your understanding of
14 those statistics?

15 A. Surgery is better than doing nothing.

16 Q. Is surgery -- does surgery for a resectable
17 non-small cell lung cancer stage one offer the patient
18 more likely than not, greater than 50 percent,
19 five-year life expectancy or better?

20 A. Let me make sure I understand the question.
21 You are not talking about greater than 50 percent
22 survival? You are saying that resection of a stage one
23 carcinoma more likely than not will give --

24 Q. Give the -- confer to the patient the benefit

1 of five years or more survival?

2 A. I am not sure what the exact percentage is.
3 It would depend on the study. It might be more than 50
4 percent in some if not most studies, but I am pretty
5 sure there are some numbers out there with less.

6 Once again, it's going to depend on which
7 population you are looking at, concomitant diseases and
8 so forth. That's the problem with statistics.

9 Q. Well, let me ask you this in this manner. Go
10 ahead. Were you going to say something?

11 A. I was going to say if you have a study that
12 you would like to show me.

13 Q. Well, I want to know what your testimony is
14 going to be, and maybe we can short circuit this
15 somewhat.

16 Is it going to be your testimony in this case
17 to offer opinions on the proximate cause issue
18 regarding whether or not Mr. Cowan more likely would
19 have survived at any point in time during his
20 treatment?

21 A. I would have to defer to Mr. Brooks because I
22 don't understand proximate cause.

23 MR. BROOKS: This witness is a standard of
24 care witness with regard to Dr. Husari.

1 MR. DJORDJEVIC: All right.

2 BY MR. DJORDJEVIC:

3 Q. You are not going to offer any opinions
4 regarding whether or not Mr. Cowan would have been
5 cured of his cancer assuming that his cancer had been
6 diagnosed at a certain time when it was at a certain
7 stage?

8 A. I am not sure how I can answer that
9 question. It depends on what hypotheticals are asked
10 of me and what I'm allowed to answer.

11 MR. DJORDJEVIC: But, Mr. Books, you are not
12 going to ask him any **of** those hypotheticals as I
13 understand it?

14 MR. BROOKS: No. Our intention of Dr.
15 Manaker's use at trial is to support Dr. Husari's
16 action with regard to this patient within the
17 standard of care.

18 MR. DJORDJEVIC: And, Steve, I think maybe
19 the doctor and I are having problems communicating
20 on the legal aspect of thins.

21 MR. BROOKS: That's right. I am sure he has
22 opinions on those, but those are not what he is
23 being proffered for as an expert witness at trial.

24 MR. DJORDJEVIC: And you will be asking him

1 for none of those opinions on your case on Direct
2 I take it?

3 You will be soliciting no proximate cause
4 opinions from this witness on your case?

5 MR. BROOKS: That's correct. It's not our
6 intent.

7 MR. DJORDJEVIC: All right,

8 MR. BROOKS: I can't imagine that we would go
9 there, obviously.

10 MR. DJORDJEVIC: Okay.

11 BY MR. DJORDJEVIC:

12 Q. Just before I leave this area. Relative to
13 the curability of lung cancer at certain stages by
14 surgical resection, would you defer to your colleagues
15 in thoracic surgery relative to what the survival rates
16 are for patients who are resected?

17 A. I am not sure what you mean by defer to them
18 for what the survival rate is. And let me explain my
19 answer.

20 Q. Sure.

21 A. My answer is there are lots of numbers in the
22 literature. And any one particular number that one
23 specialist might cite I may or may not agree with.

24 Q. Do you have any numbers based on your

1 experience that you can talk with me about with your
2 patients, your patients who are resected at stage --

3 A. I would say that when I take care of patients
4 with a stage one lung carcinoma in the absence of any
5 contraindication to proceeding with surgery that I
6 recommend surgery as the best option for long-term
7 survival.

8 I don't think that recommending it as a
9 specific percentage of whatever number percent you
10 would like is doing the patient disservice because
11 patients are not statistics. With them the only two
12 relevant numbers are zero percent or hundred percent.

13 Q. The same with clients. How about stage two?

14 A. How about stage two? What do you mean?

15 Q. Have you -- and again, I don't want to go
16 through the whole foundation.

17 A. In general I recommend surgery as the best
18 option for the majority of patients with stage two.

19 Q. And by best option, you mean that surgery for
20 stage two non-small cell lung cancers offers the best
21 chance of long term survival for those patients?

22 A. Compared to the other modalities available
23 and in the absence of other contraindication or
24 complicating factors that might favor some of those

1 over modalities.

2 Q. How about the same question for stage three,
3 Doctor?

4 A. Yes. With the caveat that the decisions
5 among the various options for stage three become very
6 complicated depending on those other factors including
7 simply the patient's age and, for example, the
8 specifics of which lymph nodes are positive and where
9 exactly the lymph node is located.

10 Q. I follow. All right. Let's digress for a
11 few minutes here, Doctor. I wonder if you could tell
12 me what materials you have been provided to review in
13 this case as the foundation for your opinions?

14 A. Okay. I can speak broadly without giving you
15 the exact documents. I reviewed records from Dr.
16 Husari. I reviewed documents from several of the other
17 treating physicians. I reviewed literature written by
18 Drs. Mountain and Dr. Lillington provided me by Mr.
19 Brooks and his colleagues.

20 I read many of the depositions of the
21 individuals involved in the matter. I have reviewed
22 copies of chest radiographs and CT scan.

23 I would say that's in general the specific
24 documents I have reviewed in preparation for this.

1 Q. I notice there is a large file of materials
2 to your right?

3 A. Correct.

4 Q. Are those the materials that you have
5 received?

6 A. It's a subset. The other materials have
7 already been returned to Mr. Brooks and his colleagues.

8 Q. I wonder if I could have Ms. Loucas go
9 through those while we talk for the interest -- in the
10 interest of time. We will **do** two things at once?

\$1 MR. BROOKS: Certainly.

12 THE WITNESS: Okay by me.

13 BY MR. DJORDJEVIC:

14 Q. You have reviewed certain chest x-rays an CT
15 scans; is that right, Doctor?

16 A. Yes.

17 Q. And do you recall which chest x-rays you have
18 reviewed?

19 A. Not off the top of my head, but I can pull
20 out my list.

21 Q. Do you have a list of chest x-rays that you
22 have reviewed? And while you are digging in there, do
23 you have any other reports, notes, memorandums of any
24 sort concerning this case?

1 A. I have got my correspondence and I have got
2 my notes. And that's why I am able to -- that's why my
3 usual practice is to return all the original records to
4 the attorneys so I am not warehousing them and because
5 I rely on my notes.

6 Q. All right. Doctor, why don't we just
7 identify what you are looking at on the record?

8 And I will represent on the record that you
9 have reached down to your left side and you have pulled
10 out a manila folder that seems to have some materials
11 in it.

12 Is that what just happened?

13 A. Yes. And this folder contains my
14 correspondence from Mr. Brooks and his colleagues in
15 regard to this matter, my notice of deposition from Ms.
16 Loucas and my notes from the records that I have
17 reviewed.

18 Q. You have a label on the tap of the manila
19 folder. Can you read that for us?

20 A. Sure. It says Carol Marunich,
21 M-A-R-U-N-I-C-H. Cowan v Husari for Flaherty,
22 Sensabaugh and Bonasso.

23 Q. That's a lawyer apparently?

24 A. Yes. That's one of Mr. Brooks colleagues.

1 Q. And what's the purpose **of** that manila
2 folder? Why have you maintained that?

3 A. To keep papers together in one easily
4 accessible place.

5 Q. Those would be -- would it be fair for me to
6 that's your file on this case?

7 A. Yes,

8 Q. **Is** that a fair way --

9 A. Yes.

10 Q. -- to describe it? And do you generate that
11 type of a file on every case that you review? Is that
12 what you do as your --

13 A. Yes.

14 Q. -- standard routine? All right.

15 A. Yes.

16 Q. Why do you do that? What's the purpose of
17 generating a file of that sort in these cases?

18 A. So I can refer to my notes, refresh my
19 memory, answer specific questions.

20 Q. **And** when you generate the contents of the
21 file -- go ahead. Do you want to add something?

22 A. Yeah. I was going to add I also keep the
23 letters in here because although no one has ever
24 explained it to me, it seems like the letters seem to

a be an important part of the file.

2 So, I made it my routine practice to keep
3 them in there.

4 Q. Someday when we have some more time I will
5 explain it to you if Mr. Brooks doesn't.

6 Doctor, would it be all right if we were to
7 obtain copies of all of the items in your file
a concerning this case?

9 A. Okay by me.

10 MR. BROOKS: No objection.

11 MR. DJORDJEVIC: I am not going to do that
12 now. What we'll do, maybe we'll take a break in a
13 little while when the court reporter needs a
14 rest.

15 You let me know and we'll see if you can make
16 copies of that for us. All right.

17 BY MR. DJORDJEVIC:

18 Q. Were the notations that you made -- I can't
19 help but notice that you seem to have different things
20 stapled together and different things paper clipped.

21 Is there some kind of method to the way you
22 did that?

23 A. No. I can honestly say that whether it's a
24 yellow pad, a white pad or these bluish-purplish pads

1 just reflected what happens to be close and handy at
2 the moment.

3 Whether it's a blue or black pen, on
4 occasions it's even green or purple, doesn't --

5 Q. Even red I see from --

6 A. Red -- I will say that I only use red when I
7 am highlighting things that I view as important.

8 Although, if I don't have red pen handy as **is**
9 evident in here, I will make such notations **of**
10 importance in other colors as well.

11 Q. All right. And let me see if I can
12 understand what you do.

13 You sit down with the primary records, be
14 they depositions or hospital charts or physician office
15 records, and you read through those and while you are
16 reading through those you make notations of important
17 things that you see in the primary record?

18 Is that what you do?

19 A. Yes.

20 Q. And by reference to your file on the Cowan
21 case, you can tell me which x-rays you have actually
22 seen?

23 A. Yes. Although, I will tell you that I have
24 notations here, and I believe these notations are off

1 of the reports rather than the original films.

2 And only in retrospect thinking about this
3 last night when I was going through the notes, in the
4 context of reading one of the depositions where there
5 was a question as to whether or not an actual x-ray was
6 available did I recognize that there may very well be a
7 notation here of a film from a report when actually
8 that film itself wasn't available able to me and I just
9 made a notation of the report.

10 I can't distinguish that for you.

11 Q: What I want to understand and it's important
12 for me to come away from this procedure this afternoon
13 clearly with is can you identify by your notes or
14 otherwise actual films that you put up in front of a
15 view box and looked at as opposed to the reports of
16 those films generated by radiologists interpreting
17 those films at the time they were taken?

18 A. It's my recollection that I had all of those
19 films available for my view. Those films have been
20 returned to Mr. Brooks.

21 If at some point in the future someone were
22 to say to me, you know, this one film that you have got
23 a note about isn't there and you never had it, I
24 couldn't dispute that.

1 But it's my general understanding that I had
2 the opportunity to review the copy films for each of
3 these studies.

4 Q. And those would be copies or second
5 generations of the films as opposed to the original
6 films?

7 A. Correct.

8 Q. When you were look at the films --

9 A. I believe they were copied films.

10 Q. Were they -- were the films of sufficient
11 technical quality for you to do whatever you wanted to
12 do with the films?

13 A. With the caveat that they are copies and
14 copies are never as good at the primaries as Dr.
15 Lillington pointed out in his deposition.

16 Q. Now, I take it that this is something that
17 you do all the time in your practice as well is review
18 chest films and other films of your patients?

19 A. All the time.

20 Q. Would that be a daily occurrence?

21 A. It just depends if I am seeing patients or
22 not.

23 Q. But it's certainly something that is well
24 within your ambit of expertise as a practicing

1 pulmonologist to do, interpret chest films?

2 A. Yes.

3 Q. And you felt very comfortable in interpreting
4 the chest films that were done over the years on Mr.
5 Cowan?

6 A. Yes.

7 Q. Very comfortable in rendering opinions on
8 what you saw in those films I take it?

9 A. Yes.

10 Q. Okay. Could you tell me, sir, what films you
11 believe you reviewed?

12 A. Sure. If you are going to be provided with
13 copies, do you really want me to read off all these
14 dates?

15 Q. I have all the dates. So, I will just check
16 them off. You just go through them and I will check
17 them off.

18 A. I have got notations here for films dates
19 1/27/86, 10/11/89.

20 MR. BROOKS: Whoa, whoa, whoa. Mike can't
21 check off that fast.

22 BY MR. DJORDJEVIC:

23 Q. Go ahead.

24 A. I can dictate very quick. **So**, I apologize.

1 Q. You should apologize to your
2 transcriptionist, not to us?

3 A. She is really good. 11/6/89, 8/28/91,
4 9/10/91, 1/25/92, 10/25/93, 10/28/93, 1/1/94; 9/23/94,
5 9/24/9 -- excuse me. 9/28/94, 10/27/94, 3/27/95,
6 3/30/95.

7 There is a report dated 11/21/95 of a film
8 performed on 10/25/95. 11/22/95, 12/29/96, 11/21/97,
9 1/3/98, 4/5/98, 4/9/98, 5/7/98, 5/11/98, 5/13/98,
10 6/24/98, 8/2/98, 9/25/98, 10/1/98, 11/9/98, 11/18/98.

a1 Q: And, Doctor, when did you last review those
12 x-rays?

13 A. Last year sometime.

14 Q. You haven't reviewed them preparatory to
15 today's deposition?

16 A. No, just my notes.

17 Q. And in reviewing those films, did you review
18 the films with the benefit of the official reports that
19 were dictated by the radiologist interpreting each film
20 contemporaneously, or did you put the films up cold and
21 try to gain your own understanding as to what you saw
22 in the films?

23 What was your approach to the review of those
24 films?

1 A. My approach was to review the reports so that
2 I could organize and focus looking at the large number
3 of films.

4 Then I reviewed the films. **And** they were **so**
5 interesting that there were enough curiosities and
6 questions about them that I actually showed them to two
7 chest radiologists.

8 Those are not general radiologists, but those
9 are radiologists who have the equivalent subspecialty
10 expertise in chest radiology which they do for a
11 living.

12 Q. And why did you do that with these particular
13 films?

14 A. I treat these cases like I treat patients.
15 And patients come to me with outside films, be they
16 originals or copies, with unusual films, films where
17 there are questions. I bring them down and go over
18 them with a chest radiologist to the extent --

19 To the extent that I can't, all too often
20 patients come and they have only got reports or they
21 only have the report copy films or they have got
22 nothing available, I just do the best I can with
23 materials that I have available at that time.

24 Q. All right. **So**, in your practice, in the way

1 that Dr. Manaker practices pulmonology, if you have
2 chest radiographs that you I think described as
3 interesting, amongst other adjectives that I can't
4 recall, you will take those films as a bundle and go
5 down and review them with a radiologist; is that right?

6 A. At times.

7 Q. Specifically with a chest radiologist in this
8 institution?

9 A. Yes.

10 Q. **And** I take it that you do that for the
11 benefit of the patient as opposed to any intellectual
12 curiosity that you have?

13 A. Both. Sometimes it may not be at all
14 relevant to patient care, but if it's unusual or
15 interesting I'll want to learn from my colleagues.

16 Q. And you will also want to confer to your
17 patient whatever benefit there might be conferred to
18 that patient?

19 A. Yes.

20 Q. By reviewing the films with the chest
21 radiologist?

22 A. Yes.

23 Q. In reviewing the films of Mr. Cowan that you
24 have already enumerated for us, you took that extra

1 step of actually going to not one, but two chest
2 radiologists to review the films; is that right?

3 A. Yes.

4 Q. Can you tell me who those chest radiologists
5 are, Doctor?

6 A. One was Warren Gefter and the other was one
7 of the chest radiology fellows whose name I believe is
8 Dan Maki, M-A-K-I.

9 Q. They are both radiologists **on** staff here at
10 the University of Pennsylvania?

11 A. Warren is. He is the current chief of the
12 thoracic radiology division. I don't know where Dan is
13 right now.

14 Q. He was at the time of the review here at the
15 University of Pennsylvania?

16 A. He was. He was a fellow in chest radiology.

17 Q. And that raises another interesting point
18 that maybe we can get into at this point in the ball
19 game.

20 Relative to the ability of a physician to
21 interpret and x-ray, let's talk about specifically of
22 the chest because that's a primary area of interest 1
23 am sure to a pulmonologist, would you consider your
24 ability to interpret x-rays of the chest equal to,

1 better than or less than the ability of a general
2 diagnostic radiologist to interpret chest films?

3 A. It would have to depend on the radiologist.

4 Q. Some radiologists are better at interpreting
5 chest x-rays than you are?

6 A. Yes.

7 Q. Some are worse than you are?

8 A. Yes.

9 Q. In your practice here at the University of
10 Pennsylvania, do you know which radiologists you
11 consider to be better than you at interpreting chest
12 x-rays and those that you consider to be not as good as
13 you?

14 A. It's too general a question given the vast
15 number of radiologists. I can tell you the chest
16 radiologists I would all view as better than I at
17 interpreting chest films and that's who I bring the
18 films to.

19 Q. In your view of the materials provided to you
20 on the Cowan film or on the Cowan case, is it your
21 understanding that the radiologists that interpreted
22 the studies that you have already enumerated on the
23 record were ordinary general diagnostic radiologists,
24 or were they specialists in chest radiology?

1 A. It's my understanding they were general
2 radiologists.

3 Q. Do you know whether or not in this case
4 specifically Dr. Husari had available for consultation
5 with him concerning the Cowan case consultation with a
6 chest radiologist specialist?

7 A. I do not know.

8 Q. You don't know if there is a specialist in
9 chest radiology anywhere in the Clarksburg, West
10 Virginia area or what the closest geographic area would
11 be that had a specialist in chest radiology?

12 A. I don't know what was available in that
13 area. Am I allowed to ask Mr. Brooks a question.

14 MR. DJORDJEVIC: It's fine with me.

15 THE WITNESS: Thank you.

16 MR. BROOKS: Only if it's asked.

17 MR. DJORDJEVIC: Consider it asked, Doctor.

18 THE WITNESS: Let the record reflect
19 laughter.

20 MR. DJORDJEVIC: Where were we? We were
21 talking about --

22 MR. BROOKS: I think the pending question is
23 whether Dr. Manaker knew whether Dr. Husari had
24 available to him an expert in chest radiology.

1 BY MR. DJORDJEVIC:

2 Q. You don't know whether or not Dr. Husari had
3 available to him a subspecialist in chest radiology to
4 review any of these films?

5 A. I do not know.

6 Q. Doctor, in reviewing the films yourself,
7 describe to me how you did that? Would you put one
8 film after the other up on the view box, put groups of
9 them up and compare them?

10 How would you -- how did you review the
11 films?.

12 A. Yes. I would say one of the nice things
13 about our radiology department is they have a very
14 large room with a great many view boxes.

15 And when you finally get all the films
16 organized up the way you want them it makes it very
17 easy for you to call someone in and have them take a
18 look at it.

19 It might take 40 minutes to an hour actually
20 to just organize this number of films. Although, I
21 would say it wasn't the hundreds of films described by
22 Dr. Mountain.

23 Q. All right. And in reviewing the films for
24 the Cowan case, how much time did you take to do that?

1 A. **I** have no recollection.

2 Q. **An** hour, less than an hour? Do you have any
3 idea?

4 A, **I** am sure it was more than an hour.

5 Q. And is that the type of time that you would
6 spend in reviewing the films if Mr. Cowan had been a
7 patient of yours as well?

8 A. Cumulatively, yes. Of course, at any given
9 point in time, one would only be reviewing one or two
10 films.

11 So, it would then only be a matter of
12 minutes, but it's probably 40 or more dates here. So,
13 40 or more studies, even if you just a moment at the
14 time of each study, it's going to be 40 minutes.

15 Q. Now, Doctor, in your practice, do you review
16 all of the chest films on your patients which you
17 order?

18 A. Usually, but not always

19 Q. Is it your goal to review all of the chest
20 films on your patients which you order?

21 A. Yes.

22 Q. Is it your goal to review all of the chest
23 films available to you in this institution on any given
24 patient if you are trying to evaluate a questionable

1 process going on in that patient's chest?

2 A. I would say I would try to review all of the
3 available relevant films.

4 If I knew that a series of films for five
5 years were normal and in year six there was an
6 abnormality, I might not look at years one, two, three,
7 four and five. I might look at year one and five and
8 year one and three and five.

9 Q. So that we have a clear record, the way I
10 understand the common method by which x-rays are
11 maintained at a given institution, and let me see if I
12 am understanding this correctly, and if this is how
13 it's done here at the University of Pennsylvania, if
14 Mr. Cowan is a patient of yours and he had certain
15 chest films or other x-rays for that matter that were
16 done at this institution, the University of
17 Pennsylvania, those films would all be maintained by
18 the University of Pennsylvania somewhere in-house?

19 Is that the way it works?

20 A. It used to be and then we had problems with
21 warehousing films because of the volume over the years.

22 I don't know what the policies were in the
23 past at the university. I know that the common methods
24 are now changing with electronic radiographs and

1 | digital technology.

2 | So, for example, those films are no longer
3 | even produced. It's all electronic.

4 | Q. Let me then make it specific to this case.
5 | Is it your understanding that all of Mr. Cowan's chest
6 | films that were ordered by physicians at Stonewall
7 | Jackson Hospital were stored on premises at Stonewall
8 | Jackson Hospital?

9 | A. I have no idea what the practice is and
10 | procedures were at Stonewall Jackson Hospital. I also
11 | don't know which of these various films that I saw were
12 | performed at what institution.

13 | Q. So, in other words, if Dr. Husari wanted to
14 | evaluate something that he saw on a chest film in 1994,
15 | you wouldn't know what chest films were actually
16 | available to him?

17 | A. That's correct. And I would fondly remember
18 | waiting many lengths of time for file room clerks to
19 | unsuccessfully locate previous x-rays.

20 | Q. Because you asked them to locate x-rays so
21 | you could look at them to evaluate your patient's
22 | condition; right?

23 | A. Touche.

24 | Q. We're in agreement; right?

1 A. We are.

2 Q. Again, the same question for 1995, 1996, any
3 of those years, you don't know what films would have
4 been available to Dr. Husari **in** any year starting with
5 1994 and each calendar year thereafter had he wished
6 to, for whatever reason, put up previous chest films to
7 cry to evaluate what was going on in his patient's
8 chest?

9 A. True.

10 Q. Is that fair? If I were to represent to you
11 that those films, at least the majority of them, were
12 maintained by the radiology department at the Stonewall
13 Jackson Hospital, you would have no reason to dispute
14 that, would you, Doctor?

15 A. I would have no primary knowledge to dispute
16 that.

17 Q. All right. And in the way that Dr. Manaker
18 practices medicine from time to time with certain
19 patients and certain problems, you will go down to the
20 department of radiology, or wherever the films could be
21 found relative and regarding your patients, and ask to
22 see all of those films; is that right?

23 A. Yes.

24 Q. In your review of the records here, do you

1 know whether Dr. Husari ever did that relative to Mr.
2 Cowan?

3 A. I do not.

4 Q. Do you ever -- regarding your factual
5 understanding of this case, do you know whether Dr.
6 Husari at any time prior to 1998 felt that there was
7 need for any additional testing or evaluation or workup
8 concerning anything that he saw in any of the previous
9 chest films?

10 A. I think your question is a little too broad
11 for me to answer.

12 Q. Okay. Tell me what's broad about it and I
13 will see if I can narrow it?

14 A. It's too long a period of time. I don't know
15 necessarily which of these films were ordered by Dr.
16 Husari and I don't know what was available to him at
17 each period of time.

18 Q. Okay. I tell you what, let's make it real
19 open-ended. I am going to make it real open-ended for
20 you. I will give you a chance to talk a little bit
21 okay.

22 Upon your review, on your review of all the
23 materials that Ms. Loucas now knows what they are and I
24 don't, have you been able to gain a factual

1 understanding of Dr. Husari's involvement in the care
2 and treatment of Mr. Cowan?

3 A. I would say in general, yes.

4 Q. All right. Why don't you give me just a
5 narrative statement as to what your understanding is
6 what role Dr. Husari played in Mr. Cowan's care?

7 Can you do that?

8 MR. BROOKS: I don't think that's objectionable. I
9 am not sure how much information you are going to get
10 out of him.

11 MR. DJORDJEVIC: Well, we're going to find
12 out.

13 THE WITNESS: I think the care that Mr.
14 Husari rendered to Mr. Cowan was very reasonable
15 and well within the standard of care.

16 BY MR. DJORDJEVIC:

17 Q. I understand that's your opinion. My
18 question, sir, is a little different than that.

19 What is your factual understanding? For
20 example, when did Dr. Husari first come in contact with
21 Mr. Cowan?

22 MR. BROOKS: There we go. That's getting
23 better.

24 BY MR. DJORDJEVIC:

Q. All right.

2 A. I believe it was in January of 1995.

3 Q. And how was it in your recollection of the
4 facts of this case that Dr. Husari came to see Mr.
5 Cowan?

6 A. I'm allowed to refer my notes to answer the
7 question.

8 Q. Refer to anything you want, any of the
9 materials. I am going to ask you that you tell me what
10 you are referring to, but you can refer to --

11 A. I am just going back to my notes here from
12 Dr. Husari.

13 MR. BROOKS: Do you want to take a break and
14 get these copied before you go on?

15 MR. DJORDJEVIC: That would be a good idea.

16 - - -

17 (Whereupon, a brief recess was held.)

18 - - -

19 BY MR. DJORDJEVIC:

20 Q. Doctor, before we adjourned for a short break
21 we were talking about your factual understanding of
22 this case.

23 I think where we left off is you were going
24 to tell me your understanding as to how the initial

1 contact developed between Mr. Cowan and Dr. Husari?

2 A. Yes.

3 Q. All right. And would you explain to me your
4 understanding of how that occurred, sir?

5 A. My understanding is that Dr. Saba sent Mr.
6 Cowan to see Dr. Husari in the office on January 4th,
7 1995.

8 Q. And had there been any contact between Dr.
9 Husari and Mr. Cowan before January 4th of 1995?

10 A. No. I believe Dr. Husari was asked about a
11 Chest radiograph before that, but there was no contact
12 between them.

13 There was no doctor/patient relationship.

14 Q. And who asked Dr. Husari about a chest
15 radiograph before 1995?

16 A. I will say that I am not sure.

17 Q. Do you know why Dr. Husari was asked about a
18 chest radiograph prior to 1995?

19 A. No. There was some discussion of it in the
20 deposition, but it really was unclear.

21 Q. Do you know what that chest radiograph before
22 1995 revealed? Do you recall what the dates of that
23 film or films were?

24 A. I believe it was the November 27, 199 -- I am

1 sorry. I got my date wrong. Wrong sheet here.

2 I believe it was the September 1994. Excuse
3 me, one of the films in September 1994, and I am not
4 quite sure if it was the September 23 or September 28.

5 Q. So, it's your understanding that someone
6 asked Dr. Kusari to review a September chest film done
7 on Mr. Cowan in 1994; is that right?

8 A. I think that's right. I think as I am
9 looking at this now I'm thinking it was the September
10 23rd film, but it could have been September 28th.

11 Q. And do you know which doctor asked Dr. Husari
12 to -- was that a consult did you understand it?

13 A, No. This is what we refer to in medicine as
14 the curb side. This is the hang on one second. This
15 is the curb side where you are walking along and for
16 some reason somebody says, hey. Come look at this.
17 What would you do?

18 And you are given two, three, five facts.
19 And you might say, well, this is what I would do, but
20 you are not given the other 25, 35, 55 facts and you
21 are not given the chance to see the patient and do a
22 full evaluation.

23 Q. All right, Now, is it your understanding
24 that Dr. Husari was solicited for this curb-side

1 consult., as you call it, as a result of the fact that
2 he is a pulmonologist?

3 A. I am not quite sure why he specifically was
4 solicited whether it was because he is a fine
5 physician, because he's a pulmonologist, because he
6 happened to be there.

7 Q. And is it your understanding that Dr. Husari
8 made any request to do additional evaluation on the
9 patient?

10 A. I don't know that Dr. Husari made any
11 additional request to evaluate the patient, and I don't
12 know that it would have been appropriate for him to
13 request that.

14 I don't know the specific details of the
15 conversation or the context in which the conversation
16 occurred.

17 Q. Have you been in that situation yourself,
18 Doctor, where you have been approached for a curbside
19 consult?

20 A. All the time. Walking in the hallway
21 somebody calls you up and pages you and says, hey.
22 What do you think you should do? What do you think I
23 should? What would you do?

24 Q. And in that situation do you ever say, I

1 think I need to see the patient?

2 A, Sometimes.

3 Q. So, one of the appropriate responses for the
4 physician performing the consult is to request to see
5 the patient?

6 A. Whether or not it's appropriate would be a
7 judgment decision, but I would just say it's one of the
8 potential options.

9 Q. I am having difficulty envisioning a
10 circumstance in which it would be inappropriate for the
11 consulting physician to say I'd like to see the
12 patient?

13 A. If it's superfluous and unnecessary as
14 perceived by either the patient and/or the person
15 making the request.

16 Q. In any event, do you know what the outcome of
17 that curbside consult, apparently as you understand it
18 that occurred back in September of '94?

19 What was the outcome of that curbside
20 consult?

21 A. I don't know which of the subsequent events
22 could be determined a specific direct outcome of that
23 conversation.

24 I don't know if that conversation affected

1 anything that subsequently occurred.

2 Q. Do you recall reviewing the films -- we can
3 agree you and I that there were some films that were
4 done September the 23rd of 1994?

5 A. Yes.

6 Q. And September the 28th of 1994?

7 A. Yes.

8 Q. Correct? Do you recall reviewing those
9 films?

10 A. I have my notes here from my review.

11 Q. All right. And could you tell me -- and
12 again, refer to your notes -- what those films
13 revealed?

14 Why don't you tell me what you are looking at
15 so I can find it in the copy here, Doctor?

16 A. About the first page of the set of notes
17 that's entitled Dennis Cowan v Ahmed Husari, Circuit
18 Court Harrison County. It's page one.

19 Q. Okay.

20 A. Got that?

21 Q. I have got it.

22 A. So, on the 23rd Dr. McClane interpreted chest
23 x-rays showing chronic scarring and a small area of
24 increased density in the left upper lung zone

1 representing a small acute infiltrate not present on
2 previous studies. That was his interpretation.

3 Dr. Goodwin interpreted a right anterior
4 oblique film in which he could not identify that small
5 area of increased density.

6 Q. All right.

7 A. Dr. Goodwin then went on and interpreted the
8 film of September 28 in comparison to the previous
9 films of the 23rd saying there is a minimal infiltrate
10 and it's almost completely resolved.

11 Dr. Taluxen then looked at the October 27th
12 film and said mild hyperinflation, negative chest.

13 And when I looked at those films in the
14 context of the sequence and the context of the
15 information available it led to the conclusion that
16 there was an pneumonia, an acute infection, that
17 appeared to be getting better because it went from a
18 left upper lobe density to by report an almost complete
19 resolution to a negative chest.

20 Now --

21 Q. Are you finished? I don't want to interrupt
22 you?

23 A. I will stop there for now.

24 Q. Okay. There appears to be a notation in red

1 ink on the original?

2 A. Yes.

3 Q. It appears heavier on my copy?

4 A. Yeah, from October 27th.

5 Q. Right. That says, okay reading. Can you
6 read that for me verbatim, please?

7 A. Sure. My handwriting is atrocious. I
8 acknowledge. Okay reading in context of reading many
9 films per day. Only in retrospect do you say the
10 residual infiltrate exists.

11 . . Q. What do you mean by that?

12 A. I mean that on that particular film, and I
13 believe this is the film that many individuals in their
14 depositions questioned about the radiographic
15 technique, there were different standards.

16 There is the standard for a pulmonologist
17 looking at chest x-rays, there is a standard for a
18 general radiologist looking at x-rays, and there is a
19 standard probably for a chest radiologist different
20 from the general radiologist I would imagine, but don't
21 know for certain because I am not a radiologist.

22 And my expectation having looked at that copy
23 film and reviewing that report was in the context of a
24 general radiological sitting there reading however many

1 tens to hundreds of films a day, looking at it that
2 that interpretation was probably okay.

3 And that only in retrospect could you really
4 say, you know, there is still something here: And I
5 remember fondly Dr. Lillington and Dr. Mountain talking
6 about whether or not you could really see something on
7 that film or not.

8 Q. I want to get your opinions because your the
9 guy that's seated across the table from me this
10 afternoon?

11 A. Okay.

12 Q. What did you see on the film of September the
13 23rd or the films of September the 23rd?

14 A. As best I recall, those films showed
15 something in the left upper lobe on the 23rd that
16 appeared smaller on the 28th and that was imperceptible
17 trying to exclude previous knowledge about its
18 existence.

19 And in retrospect, I could acknowledge there
20 was something there on film on October 27th.

21 Q. Can you and I agree that in your point of
22 view you were looking at the film from the point of
23 view of a practicing pulmonologist? Is that what you
24 attempted to do?

1 A. Yes.

2 Q. And can we agree that from the point of view
3 of a practicing pulmonologist 'there was something, as
4 you put it, something on those films September the
5 23rd, September the 28th, October the 27th of 1994?

6 A. No. I would acknowledge there was something
7 there on September 23rd and September 28th.

8 What was there on the copy film that I had
9 the chance to review from October 27th was almost
10 imperceptible and it would have been very hard to
11 distinguish it from normal lung markings. And that's
12 the reason for my comments here about only in
13 retrospect and an okay reading in the context of many
14 films.

15 If you didn't know it was there and you were
16 just blindly reading the film, you could very easily
17 overlook it.

18 Q. But if you were comparing the October film to
19 the September films you would know it was there and you
20 could see it in October?

21 A. If you could see it in October and you could
22 see it getting better and you would wonder, is it still
23 there a little residual, or is it completely gone?

24 And if you ask me to do that blindly five

1 times in a row, I am not sure who would win three to
2 two.

3 Q. If you were managing the patients or the
4 patient whose chest films you were viewing, what would
5 be your plan of treatment, if any, as of October the
6 27th of 1994?

7 MR. BROOKS: Excuse me. I don't really like
8 to object, but implicit in that is the assumption
9 that Dr. Husari was managing the patient.

10 And I think it was very clear that he was
11 not, but having said that, go ahead.

12 MR. DJORDJEVIC: I don't dispute that.

13 THE WITNESS: What I would do would depend on
14 the particular details of the patient that I knew.

15 Two reasonable options would be to say there
16 is still something there. Let's get another film
17 in two months, three months, four months to see if
18 it goes completely away or if there is a residual
19 scar.

20 A second reasonable approach would be to say
21 if there is a residual scar, that's fine or if it
22 goes completely away, that's fine, I don't really
23 need to know either of those. And that another
24 film should be gotten only on an as-needed basis

1 as other aspects in the patient's clinical care
2 dictated.

3 Either one I would say would have been a
4 reasonable procedure for the physicians' caring for
5 him at that time.

6 BY MR. DJORDJEVIC:

7 Q. The next chest film that you discuss on your
8 handwritten note chronology is a chest film of 3/27/95;
9 is that correct?

10 A, Yes.

11 Q. Now, as of 3/27/95 and your factual
12 understanding of this case, was Mr. Cowan at that time
13 a patient of Dr. Husari?

14 A, Yes.

15 Q. So you and I can assume that -- you and I can
16 agree that as of 3/27/95 Dr. Husari was the physician
17 who was treating Mr. Cowan? Can we agree?

18 A. Yes.

19 Q. And as such --

20 A. In fact, I would say he saw him in the office
21 on the 27th.

22 Q. And as such she had a certain responsibility
23 to Mr. Cowan, can we agree, as the treating physician?

24 A. As of March 1995, yes.

1 Q. And how or why was the March 27th, 1995 chest
2 film obtained? Why was it ordered?

3 A. It was ordered because Mr. Husari -- Mr.
4 Cowan had seen Dr. Husari in the office complaining of
5 shortness of breathe and cough. He had an abnormal
6 chest examination.

7 Dr. Husari's clinical impression was of a
8 flare of chronic obstructive pulmonary disease. He
9 started therapy with Prednisone and antibiotics and
10 ordered the chest x-ray to evaluate the chest.

11 Q. And that ordered chest x-ray to evaluate the
12 chest is represented by the March 27th, 1995 film; is
13 that right?

14 A. Yes. I believe so.

15 Q. Is it your understanding that Dr. Husari
16 himself reviewed that actual film?

17 A. Without making this into a memory test I
18 remember Dr. Husari commenting that it's his usual
19 practice to review the films that he ordered and I
20 would assume that he saw him.

21 Q. If it was your patient and a film that you
22 ordered, would you review the film?

23 A. Yes.

24 Q. You, in fact, reviewed the March 27th, 1995

1 film; is that correct?

2 A, Yes.

3 Q. Is it your understanding of the facts of this
4 case that when Dr. Husari reviewed that film he had
5 previous chest films to compare it to, or he didn't?

6 A. I don't believe he had previous films to
7 compare to at that time, but he may have.

8 It's my general understanding that at that
9 time there weren't many of the previous films
10 available.

11 Q. Now, did the standard of care in your
12 opinion, Doctor, require that Dr. Husari make some
13 attempt to learn whether or not there were previous
14 films available for comparison?

15 A. No. As long as he had the information that
16 there was something there previously. Access to the
17 information, be it in a report, either written or
18 verbal, then there was no obligation or necessity for
19 him to go pull the old original films.

20 Q. And is it your understanding of the facts in
21 this case that the information was available to Dr.
22 Husari, and we're going to have to quit after this
23 question, relative to the radiology reports of the '94
24 September and October films?

1 Was that information that Dr. Husari had?

2 A. I would say that as of January at the initial
3 visit he received the report that the follow-up chest
4 x-rays had been negative.

5 And I am not quite sure what information was
6 available to him at that time other than the -- yeah.
7 I mean, I am just not sure what else was available to
8 him in his records or by reports regarding previous
9 specific films.

10 Q. Now, you note in your handwritten notations
11 that apparently Dr. Lopez interpreted the 3/27/95 film
12 as suggesting an infiltrate; is that correct?

13 A. That's correct.

14 Q. Would that infiltrate or density be in the
15 same area in which it had been previously reported in
16 the September of '94 film?

17 A. I think there is no way to know that from the
18 report. It's unclear if there is any way to know that
19 without putting up the films one next to each other.

20 And you would have to acknowledge that
21 because it's such a subtle finding, they might actually
22 be right next to each other, but appear to be in the
23 same place.

24 Fortunately, another chest x-ray was ordered

1 three days later and at that time the previous films
2 were available for review because Dr. Thrush in his
3 interpretation noted a comparison to the previous
4 studies of September '94. There was no change.

5 **So**, he had put in his interpretation
6 presumably representing a scar. And that would make
7 clinical sense if it **was**, in fact, in the same place.

8 I would add at this time clinically it
9 matches to Mr. Cowan's symptoms because his wife called
10 the office on the 28th to say he was feeling better and
11 hardly wheezing.

12 **So**, I think it was a very reasonable clinical
13 interpretation for someone to have made that boy, you
14 know, he had this pneumonia the previous fall. Got
15 mostly better. He has got a scar. This is the acute
16 detection of a chronic scar coincidental and unrelated
17 to a COPD flare which is responding appropriately to
18 therapy.

19 Q. You and I can agree that you have seen -- and
20 we're now discussing films up through March 30th of
21 1995?

22 A. Right.

23 Q. You and I can agree that there is nothing
24 that you see in any of those films that would enable

1 you or any other pulmonologist to rule out neoplasm?

2 A. One can -- even a normal x-ray does not allow
3 a pulmonologist or anyone to review out neoplasm.

4 Q. I want us to communicate. We can't agree that
5 these weren't normal x-rays?

6 A. We can.

7 Q. We can agree that these x-rays showed
8 abnormal findings?

9 A. We can.

10 Q. That those abnormal findings were densities
11 that were described in the left lung?

12 A. Yes.

13 Q. Correct? And we can agree that nothing that
14 we've seen thus far from '94 to '95 would enable Dr.
15 Husari, you or any other pulmonologist to rule out
16 neoplasm as the cause of that particular density, can
17 we?

18 MR. DJORDJEVIC: Let me just get this.

19 - - -

20 (Whereupon, a brief off-the-record discussion
21 was held.)

22 - - -

23 BY MR. DJORDJEVIC:

24 Q. All right, Doctor, do you want --

1 A. No. I think the most concise answer I could
2 give you is the report that there was no change in
3 comparison to September 1994 says that there is no
4 change in a stable lesion for six months which makes
5 the likelihood of a malignancy much lower.

6 Q. I want to make sure we're communicating,
7 because I am not using the term likelihood or lower or
8 percentage. I am using the term rule out.

9 Can you and I agree that there is nothing
10 that we see on the films of '94 now going into '95 that
11 would enable you Dr. Husari or any other pulmonologist
12 to rule out neoplasm?

13 A. I would say that there is never anything on
14 the film that allows you to rule out a neoplasm. It
15 doesn't matter what's there.

16 Q. Can we agree that neoplasm would be in a
17 differential diagnosis in all of the films that we have
18 discussed so far?

19 A. It's always something that one could consider
20 in the differential diagnosis, yes.

21 Q. So, specifically in the case of Mr. Cowan, we
22 can agree that starting in September of 1994 and now
23 going up to March of 1995, there is a finding that is
24 abnormal on chest films; correct?

1 A. Yes.

2 Q. And that one possible explanation as to what
3 that finding is or may be is cancer?

4 A. Yes.

5 Q. And that none **of** the chest films that we have
6 seen thus far rules **out** cancer as an explanation for
7 that finding; right?

8 A. Right.

9 MR. DJORDJEVIC: Okay. That is a good place
10 for us to **stop**. All right. Okay. Thanks.

11 - - -

12 (Whereupon the deposition concluded at
13 6:15 p.m.)

14 - - -

15

16

17

18

19

20

21


22

23

24

C E R T I F I C A T I O N

I, Celeste E. Gazzara, a Court Reporter
and Notary Public, do hereby certify the foregoing to
be a true and accurate transcript of my original
stenographic notes taken at the time and place
hereinbefore set forth.


Celeste E. Gazzara
Court Reporter
Notary Public

DATED: September 7, 2000

(The foregoing certification of this
transcript does not apply to any reproduction of the
same by any means, unless under the direct control
and/or supervision of the certifying shorthand
reporter.)