

IN THE COURT OF COMMON PLEAS

SUMMIT COUNTY, OHIO

- - -

JEFFREY W. ENLOW,)

Plaintiff,)

vs.) Case No. CV2002-01-0456

PAUL L. STEPHENS, JR.,)

Defendant.)

- - -

Videotaped deposition of DONALD C. MA",
M.D., a Witness herein, called by the
Plaintiff for direct examination pursuant to
the Ohio Rules of Civil Procedure, taken
before me, the undersigned, Eric G. Smead, an
RPR and Notary Public in and for the State of
Ohio, at the University Suburban Health
Center, 1611 South Green Road, South Euclid,
Ohio, on Wednesday, the 8th day of January,
2003, at 4:15 o'clock p.m.

BISH & ASSOCIATES, INC.
812 Key Building
Akron, Ohio 44308-1303
(330) 762-0031
(800) 332-0607
FAX (330) 762-0300
E-Mail: bishassociates@neo.rr.com

APPEARANCES:

On Behalf of the Plaintiff:

R. Jack Clapp and Associates
Co., L.P.A.

By: R. Jack Clapp, Attorney at Law
Kyle L. Crane, Attorney at Law
One Cleveland Center - Suite 2420
1375 East Ninth Street
Cleveland, Ohio 44114

Kahn and Associates

Lawrence M. Kahn, Attorney at Law
24200 Chagrin Boulevard - Suite 343
Beachwood, Ohio 44122

On Behalf of the Defendant:

Law Offices of Gillis, Garlock,
Walsh & Johanson

By: Paul R. Garlock, Attorney at Law
4450 Belden Village Avenue, N.W.
Suite 213
Canton, Ohio 44718

ALSO PRESENT:

James Torok, Video Technician

- - -

I N D E X

Exhibit No.	Page:Line
Plaintiff's Exhibit No. 1.....	67:4

- - -

Witness	DX	CX	RDX	RCX
Donald C. Mann, M.D.	4	34	72	76

- - -

1 (Off the video record:)

2 MR. CLAPP: Just to avoid a lot of
3 interruptions and a lot of objections, I take
4 it you will avoid any reference to Workers'
5 Comp throughout your examination and caution
6 the doctor to do the same thing?

7 MR. GARLOCK: Yeah. Which reminds
8 me, when I ask you what records you looked at,
9 that is just when you get to that, just skip
10 that one. I think that's the only place that
11 that would have --

12 MR. CLAPP: That way we can have a
13 cleaner record, and I will try not to object
14 too much.

15 (On the video record:)

16 DONALD C. MA", M.D.
17 of lawful age, a Witness herein, called for
18 examination, as provided by the Ohio Rules of
19 Civil Procedure, being by me first duly sworn,
20 as hereinafter certified, deposed and said as
21 follows:

22 DIRECT EXAMINATION

23 BY MR. GARLOCK:

24 Q. Would you state your name for the
25 record, please?

1 A. Donald Charles Mann.

2 Q. And what's your business address?

3 A. 1611 South Green Road, South Euclid,
4 44121.

5 Q. And is that the building that we're
6 in today?

7 A. Yes, that's the address of the
8 University Suburban Health Center.

9 Q. What's your occupation?

10 A. I'm a physician.

11 Q. And what's your specialty?

12 A. Neurology.

13 Q. Are you licensed to practice medicine
14 in Ohio?

15 A. I am.

16 Q. When did you become licensed?

17 A. 1974.

18 Q. Where and when did you go to medical
19 school?

20 A. Indiana University graduating with an
21 M.D. degree in 1968.

22 Q. After medical school what did you do
23 in terms of internship, residency, that sort
24 of thing?

25 A. A year of residency -- I'm sorry,

1 internship at Indiana University, then three
2 years of specialty training in neurology.

3 Q. Are you board certified?

4 A. I am.

5 Q. Okay. When did you become board
6 certified?

7 A. In 1974.

8 Q. Could you briefly explain to the jury
9 what it means to be board certified?

10 A. An individual has not only completed
11 the training in a certain specialty but has
12 passed an examination run by the senior people
13 in the specialty.

14 It's a national undertaking.
15 There is a day-long written is examination and
16 then a live examination where you're actually
17 watched, observed in the practice in
18 diagnosing as is done in medicine.

19 Q. Are you familiar with another doctor
20 who is going to be testifying in this case,
21 Norman Lefkovitz?

22 A. I am.

23 Q. Do you remember approximately when
24 you first met Dr. Lefkovitz?

25 A. He was a resident at University

1 Hospitals of Cleveland, and that's when I
2 would have known him. Those dates I'm not
3 sure of.

4 Q. Okay. During the course of your
5 practice have you had occasion to examine and
6 treat people who complained of neck and low
7 back pain as a result of automobile accidents?

8 A. I do.

9 Q. During the course of your practice
10 have you had occasion to examine and treat
11 people who complained of neck and low back
12 pain that resulted from causes other than
13 automobile accidents?

14 A. Yes.

15 Q. Doctor, did you examine Jeffrey Enlow
16 at my request?

17 A. Yes.

18 Q. Do you remember when you did that?

19 A. November 11th of 2002.

20 Q. Okay. Did you review any medical
21 records at my request?

22 A. I did.

23 Q. Could you tell the jury what records
24 you reviewed?

25 A. Sure. The date of the accident,

1 February 14th, 2000, there is a traffic crash
2 report, a fire department EMS run, an
3 emergency room visit.

4 After that there are records from
5 his family physician, Dr. Crombie. There are
6 consultations with Dr. Blanda and Donich, and
7 then there are a series of records from
8 Dr. Lefkovitz including his treatments,
9 therapy and all the prescriptions he was
10 providing for Mr. Enlow.

11 There is a consultation from the
12 Cleveland Clinic from a Dr. Whitfield, an
13 orthopedist Dr. Deppisch and Dr. Reilley
14 looking mostly at his hand, an ENG, electrical
15 study done by Dr. Lefkovitz, an MR scan report
16 -- or I should say reports from November 28th,
17 2000. There is one study, as I understand it,
18 and four separate reports of those images.

19 There is also a report of an MRI
20 scan of the neck. This is in April of 2000.
21 There is physical therapy at Edwin Shaw and
22 Allied Health. There is a series of medical
23 expenses, and then there are a number of
24 x-rays and whatnot dealing with his hand
25 including an arthrogram and consultations.

1 Q. Doctor, did you do a report as a
2 result of your examination and review of the
3 records?

4 A. Yes.

5 Q. And what date was that report done?

6 A. November 12th.

 Q. Okay. As needed you can refer to
8 that report throughout the questioning.

9 I want to ask you a number of
10 questions about various records that you have
11 mentioned that you have reviewed. If you have
12 them, take a look at them. If you don't find
13 them, I'll hand you what I'm talking about and
14 try and save some time.

15 The first record is, I believe, an
16 EMT radio report from the date of the
17 accident. Is that what the EMT comes with the
18 ambulance calls into the hospital?

19 A. Yes.

20 Q. All right. Do you have a copy of
21 that?

22 A. I do.

23 Q. On that, I believe in the upper left-
24 hand quadrant of the page, it talks about
25 whether or not there were complaints of neck

1 and back pain.

2 What does that establish in terms
3 of whether the Plaintiff complained of neck
4 and back pain at the scene?

5 A. The statement is no neck or back
6 pain.

7 Q. Okay. Next I want to ask you a few
8 questions about the emergency room records.

9 Do the records indicate or did the
10 Plaintiff himself tell you that he went from
11 the scene to the emergency room by ambulance?

12 A. Yes.

13 Q. Okay. Do the emergency room records
14 indicate whether he was treated and released
15 or was he actually admitted to the hospital
16 overnight?

17 A. Released.

18 Q. Under history, do you have that part
19 in front of you, the emergency room where they
20 give history and he gives complaints?

21 A. Yes.

22 Q. Okay. What complaints did he give
23 them then when he actually got to the
24 emergency room?

25 A. Head, neck, both hands and right knee

1 discomfort and an abrasion of the hand and
2 nausea.

3 Q. Okay. Is there any mention in the
4 emergency room record of low back pain?

5 A. No.

6 Q. If we're looking at the same page,
7 toward the bottom *of* that page under
8 disposition, what does it say in terms of his
9 being discharged?

10 A. Home in stable condition, use
11 Ibuprofen or Tylenol. The patient will feel
12 worse tomorrow, and he is to be off until
13 2/17/2000.

14 Q. The medication that it mentions, is
15 that something that's prescription or would
16 that be over the counter?

17 A. Over the counter.

18 Q. Okay. Does it reference in that part
19 of the report anything about seeing his family
20 doctor?

21 A. It does. The suggestion is two or
22 three days.

23 Q. Were there x-rays or CAT scans done
24 while he was at the hospital on February 14th
25 of 2000?

1 A. Yes.

2 Q. Was there an x-ray of the cervical
3 spine, the neck?

4 A. Yes.

5 Q. What was the impression on that?

6 A. Normal.

7 Q. Was there a CAT scan of the head?

8 A. Yes.

9 Q. What was the impression on that?

10 A. Normal.

11 Q. I want to ask you a few questions
12 about records from what I believe is his
13 family doctor, Dr. Crombie. There is a record
14 from the day following the accident, February
15 15th of 2000.

16 Do you have that or do you want to
17 take at look at what I have?

18 A. Got it.

19 Q. Okay. If we're on the same page, the
20 right-hand side probably about two-thirds of
21 the way down it lists what his complaints
22 were? Do you see where I --

23 A. Yes. That he is complaining of his
24 left ear, neck, left hand, ribs and one other
25 thing I can't read.

MR. CLAPP: Objection. You know,
2 Doctor, are you telling us what's -- you're
3 not -- you'rereading about a third of what's
4 there.

5 THE WITNESS: Lower sternum is
6 part.

7 MR. CLAPP: And back?

8 THE WITNESS: If that's what that
9 is.

10 BY MR. GARLOCK:

11 Q. Well, I'm sure Mr. Clap will have
12 some questions for you on cross-examination.

13 Are there any other complaints
14 then other than the left ear, neck and you
15 said lower sternum, left hand, if, in fact,
16 that says back, and then ribs, anything else
17 that -- that you can see in that visit that he
18 complained of?

19 A. No.

20 Q. When it says -- if it, in fact, that
21 says back, does that say upper, lower or
22 middle?

23 A. It doesn't.

24 Q. All right. I want you to look at a
25 telephone call a couple of days -- well, a few

1 days later of -- it appears to be February
2 21st. It appears to be a call from Mr. Enlow
3 to the doctor's office. Do you have that?

4 A. Yes.

5 Q. And under number one on the right
6 side what -- what does it say there?

7 A. Continues to have pain in back and
8 neck. Should he have PT?

9 Q. I want to jump for a minute to a
10 physical therapy record four days after that,
11 Allied Physical Therapy from February 25th of
12 2000.

13 Do you have that or do you want to
14 take a look at what I have?

15 A. Let's look at yours maybe.

16 Q. Okay. What I'm asking is under
17 present symptoms could you tell the jury what
18 they put under present symptoms four days
19 after that phone call?

20 A. Neck pain and upper back.

21 Q. Okay. It specifies upper back at
22 that point?

23 A. Yes.

24 Q. Is there any mention of lower back
25 that you see?

A. No.

2 Q. Going back to Dr. Crombie's records
3 there is a report dated March 1st of 2000.
4 It's a one page typed. Do you have that?

5 A. Yes.

6 Q. Okay. You found yours before I found
7 mine. On that is there a recommendation by
8 the doctor regarding Mr. Enlow and whether or
9 not he could work?

10 A. Yes. The doctor says my
11 recommendation that Jeff may work part-time,
12 but he will be able to leave work when
13 his discomfort level increases or he is unable
14 to perform his duties appropriately.

15 Q. And the last thing I want to look at
16 with Dr. Crombie is a note from March 31st --
17 and actually this looks again, I think, like a
18 telephone message.

19 What I'm looking at is at the
20 bottom of the page. It's a page that seems to
21 have three separate --

22 A. Let's see.

23 MR. GARLOCK: Okay. Do you guys
24 see where I'm at?

25 MR. CLAPP: Yeah.

1 MR. GARLOCK: Okay. It's page
2 that has -- what appears to have three
3 separate telephone calls.

4 MR. CLAPP: Which one are you
5 looking at?

6 MR. GARLOCK: The bottom one 3/31.

7 BY MR. GARLOCK:

8 Q. Is there anything on that note
9 regarding an MRI of the lower back?

10 A. Yes.

11 Q. And what does it say?

12 A. Well, there is a question mark MRI,
13 and then it says not warranted for lower end
14 and something about spoke with BC, which I
15 assume is Blue Cross.

16 Q. Okay. Thank you.

17 MR. CLAPP: Can I see that?

18 MR. GARLOCK: Sure. Let's go off
19 the record for a moment.

20 VIDEO TECHNICIAN: We're off the
21 record.

22 (Discussion had off the record.)

23 VIDEO TECHNICIAN: We're back on
24 the record.

25 BY MR. GARLOCK:

1 Q. Doctor, I want to go back to the
2 Allied Physical Therapy records. Do you have
3 the record from March 6th of 2000?

4 Each page seems to have a couple
5 of different visits on it. The top of that
6 page actually starts March 3rd, and the bottom
7 one is March 6th?

8 A. Oh, yeah, I got it.

9 Q. Okay. Under subjective what does the
10 record indicate there?

11 A. The neck feels much improved and back
12 feels better.

13 Q. If you know, is that something that
14 the patient would be telling the therapist or
15 is that something that the therapist would say
16 on his or her own?

17 A. Oh, I think that's a result of
18 interviewing -- asking the patient how are
19 things, what still bothers you, and how much
20 and so on as part of the assessment.

21 Q. A couple pages after that there is a
22 visit -- and just for the record I'm certainly
23 not going through every visit, but I'm
24 specifying certain visits.

25 There is a visit of March 15th of

1 2000, do you see that --

2 A. Yes.

3 Q. -- I think it's two pages? Under
4 assessment is there anything there regarding
5 the low back?

6 A. Not low back. It just says that he's
7 improved strength neck and back and decrease
8 pain.

9 Q. Okay. Anything to the right -- it
10 has after EX. Would that be -- well, what
11 does EX refer to, if you know?

12 A. I think that -- that group of letters
13 means tolerated exercise well.

14 Q. Okay. And the second line right
15 under that, does that indicate no pain?

16 A. Oh, I see. Yeah, assessment here is
17 no pain to -- to or low back after exercise.

18 Q. And how is low back designated there?

19 A. Just LB.

20 Q. Is that a common way of designating
21 low back in medical records?

22 A. Yes.

23 Q. I want to go to the discharge summary
24 of May 12th of 2000. Do you have that?

25 A. I do.

1 Q. Okay. In the first section does it
2 say when the patient was there and how many
3 times? Does it say from when to when?

4 A. It does, The -- he is there from
5 February 25th through April 19th for 22
6 visits.

7 Q. And under subjective what does it
8 indicate in that?

9 A. Back and neck feels good.

10 Q. The section below that under
11 objective under strength what does it say?

12 A. Trunk -- trunk stretch five of five,
13 meaning normal in all plains, and then the
14 flexibility question it says trunk range of
15 motion is without limitation. I think that
16 just means the guy can move around with no
17 trouble.

18 Q. What does functional mean there?
19 What -- first of all what does it mean and
20 second what does it say?

21 A. Well, function means what is he doing
22 with all this stuff that we just got done
23 talking about and looking at and does it make
24 any difference in the world, and it says there
25 working and exercising with minimal

1 discomfort.

2 Q. Page two of the discharge under
3 assessment there is a sentence checked. What
4 does that sentence say?

5 A. Patient has made good progress in
6 physical therapy and is returning to full
7 activity.

8 Q. And under other can you read what
9 that says?

10 A. Patient did not keep final visit ~~for~~
11 last recheck.

12 Q. Okay. I want to ask you one or two
13 questions about Dr. Blanda's visit. I think
14 that was one of the records that you said you
15 had.

16 The records indicates, I believe,
17 the date was March 3rd of 2000, do you have
18 that?

19 A. Yes.

20 Q. Okay. Under -- do the records
21 indicate whether that was the only visit or
22 whether there were multiple visits?

23 A. It looks from this that there is just
24 one.

25 Q. Okay. Under impression what was the

1 impression that -- that they write?

2 A. Cervical sprain and right knee
3 sprain.

4 Q. Up toward the top of that there is a
5 line that's titled capital M.O.I. that lists
6 -- are those complaints?

7 A. That's what is -- is entered there
8 after that --

9 Q. Okay.

10 A. -- heading.

11 Q. Why don't you go ahead and read what
12 it says there for complaints. That's broader
13 than -- I mean, there are more complaints than
14 under the impression, correct?

15 A. Yes.

16 Q. Why don't you read then what it says
17 under complaints?

18 A. Pain in the back of the neck between
19 the shoulder blades, lower lumbar areas --
20 area, numbness in both legs off and on and
21 headaches.

22 Q. Okay. Thank you. I want to ask you
23 one or two questions about Dr. Donich's
24 records.

25 What I have indicates, if I'm

1 reading it correctly, April 10th of 2000?

2 A. Yes.

3 Q. Do you have that? Under exam, I
4 think he talks about on examination today,
5 what does it indicate that the exam showed?

6 A. No objective neurologic deficits,
7 meaning there are no abnormalities in the
8 neurologic examination.

9 Q. Okay. You had mentioned that there
10 was a cervical MRI. Does that report, I guess
11 from Dr. Donich, reference that MRI?

12 A. Yeah, that study appears in this
13 note. The doctor says the MR scan of the
14 neck, no significant neural compression.

15 Q. Was there any -- any indication in
16 Dr. Donich's records that you saw that would
17 have suggested the need for surgery?

18 A. No, quite the contrary, this looks
19 pretty normal.

20 Q. I want to ask you one or two
21 questions about Dr. Whitfield's records. Do
22 you have that?

23 A. I do.

24 Q. Okay. Where is Dr. Whitfield
25 located? Does it indicate what hospital?

1 A. Oh, Cleveland Clinic.

2 Q. Okay. Does this indicate whether
3 this was a one visit consultation or multiple
4 visits?

5 A. One visit.

6 Q. Okay. On the second page of his
7 report under his impression what does it say?

8 A. Patient has a traumatic right
9 meralgia paresthetica secondary to trauma to
10 his right lateral femoral cutaneous nerve.

11 Q. What does that mean?

12 A. That's -- that nerve a little twig
13 that runs up over the hip and provides
14 innervation to the skin in the upper leg. It
15 rides close to the surface, and fractures of
16 the pelvis and compression of the pelvis can
17 sometimes damage it.

18 So he is saying that this looks
19 like injury to that nerve twig, and that's his
20 conclusion about the picture.

21 Q. Okay. Does anything affecting that
22 nerve have a functional -- is there a
23 functional effect of that? Does it keep
24 people from doing anything?

25 A. Well, only in the sense that it

1 bothers them. There is a tingling and burning
2 and numbness and those kinds of things, but it
3 doesn't cause weakness. Walking, climbing
4 steps, sitting, standing are all normal, so
5 it's kind of an aggravating syndrome, but,
6 again, this is a rather small nerve.

7 It doesn't do a whole lot. It's
8 important to recognize it, but it's not a
9 nerve that you really even need.

10 Q. Last thing with Dr. Whitfield's
11 record, is there any indication in that record
12 that Mr. Enlow needed surgery?

13 A. No, the contrary. He says try to
14 avoid surgery.

15 Q. I want to ask you about the -- you
16 mentioned the MRI that was done November 28th,
17 2000 to the lumbar area which is the low back.

18 I think you mentioned that there
19 was one -- one study done?

20 A. That's -- my interpretation of these
21 four reports, that they all concern a single
22 set of x-ray films -- that or he had two sets
23 of films on the same day or as many as four,
24 which seems absurd. There was probably only
25 one.

1 Q. There is -- I'm going to regret this.
2 I can't pronounce the doctor's name. There
3 is -- there is one that says open MRI of
4 America up in the upper left and it's a
5 Dr. Donald -- is it Jakaris, looking at this
6 one (indicating)? I don't know if that is how
7 you pronounce that.

8 A. Oh, yeah, that's -- I would -- that's
9 what I would do.

10 Q. Okay. What -- what -- what was the
11 finding from the MRI study done of the low
12 back?

13 A. Disk degeneration at L5-S1. The
14 right neural foramen there is a disk
15 protrusion which is far lateral.

16 Q. Would the degenerative problem shown
17 there be something that would have come from
18 this auto accident or predated it?

19 A. That kind of change is a long term
20 one that takes place over months and years,
21 and things like what is being described here
22 probably antedated the accident.

23 The study is nine months later, so
24 in theory it could have been different
25 earlier, because nine months is enough time

1 for things to change, but that type of thing
2 in general is a chronic issue and not one that
3 surfaces or appears overnight or over weeks.
4 It's months and years.

5 Q. Okay.

6 MR. CLAPP: Note an objection,
7 move to strike.

8 BY MR. GARLOCK:

9 Q. Doctor, when you say "antedated," as
10 I heard you say, would that be before or after
11 the accident?

12 A. Likely the -- the degenerative
13 process was -- has been there for, like I
14 said, years and so it would have been -- some
15 of that would have been there before the
16 accident occurred in February.

17 Q. Okay.

18 MR. CLAPP: Note an objection,
19 move to strike.

20 BY MR. GARLOCK:

21 Q. Doctor, when you -- when Mr. Enlow
22 was in to your office did you take a history?

23 A. I did.

24 Q. Would you briefly explain to the jury
25 what a history is?

1 A. It's an asking of the patient in his
2 own words to describe those complaints,
3 symptoms, pains that he has in every bit of
4 detail that the patient can bring forward
5 concerning it, like how long, how bad, what
6 helps, what hurts. So the history is
7 basically an interview conducted on the
8 patient's terms.

9 Q. And without getting into detail would
10 you briefly tell the jury what the kind of
11 outline of the history was that he gave you?

12 A. Well, in general he has pain in his
13 back and his arm and his neck and his
14 shoulders and he has headaches.

15 Q. And did he tell you about the
16 automobile accident that we're dealing with
17 here?

18 A. He did.

19 Q. Okay. Did you do an examination
20 following the history?

21 A. I did.

22 Q. Would you explain to the jury what
23 your examination consisted of, what you did,
24 and then what your findings were?

25 A. Well, the examination is narrowed to

1 the parts involved, namely the spine, the
2 nerves that exit the spine and go to the arms
3 and legs and their functions like their
4 strength, the muscles they go to, the feeling
5 that is conveyed back, and then the
6 examination for his headaches, which is at
7 least apparently a part of this process which
8 is basically structures in and around the
9 head.

10 Q. Okay. What -- what findings were
11 there then or did you have from your
12 examination?

13 A. Well, the impressive finding was his
14 very protective movements. He -- he would
15 only take rather short steps. He moved with
16 great limitation, took time to do so. His
17 general posture was forward bent.

18 As he laid down on the examining
19 table, which is a part of the procedure, it
20 took him quite a while and he looked to be
21 quite uncomfortable in execution of that, and
22 in likewise getting up he took time and went
23 very slowly.

24 So the very impressive thing was
25 the fact that he couldn't move around with any

1 ease or fluidity whether it was standing,
2 turning, walking or lying down or sitting up.

3 There were other things. The leg
4 raising test, which is designed to stretch the
5 sciatic nerve, was greatly limited on the
6 right side. The lower back muscles were
7 tight.

8 The other things in the
9 examination were normal within the limits of
10 testing. Because of his discomfort he
11 couldn't squeeze as tight as he might have
12 been able to or wanted to, but anyway, I
13 believe his strength was normal.

14 His reflexes were normal. His
15 sense of feeling was normal as well. So it's
16 basically the way he moved around and his
17 trunk movements, his spine movements.

18 Q. Were there any objective findings to
19 explain his limited movement?

20 A. No.

21 Q. The leg raise test that -- that you
22 mentioned, would you -- would you explain to
23 the jury how that's done and what's it's for?

24 A. A patient lies down flat on his back
25 on the examining table, and the examiner

1 raises the leg without the patient
2 participated. The patient is passive. And
3 the leg is raised to a point where it causes
4 discomfort. The patient says that hurts or
5 winces, and that's the end of the test.

6 Many people can raise their leg up
7 90 degrees, depending on a lot of things. 70,
8 80 is usual. If there are no other
9 limitations like hip or disease or obesity,
10 you can usually find that in most people.

11 Not being able to lift the leg
12 more than 30 degrees off the table is
13 synonymous with not being able to bend forward
14 much further than that either which we saw on
15 him -- or I saw on him as he was walking
16 around. So that -- that's a finding of rather
17 great limitation.

18 Q. Is that something that is objective
19 or subjective?

20 A. Well, that particular finding is
21 pretty much under the control of the patient.
22 It is not something that is involuntary like,
23 say, a reflex, so patient says this is where
24 it hurts, and that's, of course, where the
25 test is defined and so it is a subjective test

1 in that sense.

2 Q. Okay. Doctor, did you find anything
3 regarding the muscles in the legs, whether
4 there was any atrophy?

5 A. There wasn't.

6 Q. Okay. I want to ask you to end this
7 a series of questions in which I would like
8 you to give me your opinion, if you can. I
9 want you to give your opinion within a
10 reasonable degree of medical certainty or
11 probability. If you cannot give it within
12 that degree, then I don't want you to give me
13 that opinion.

14 Based upon your education,
15 training and experience as well as your review
16 of the records and your examination of the
17 Plaintiff, do you have an opinion based upon a
18 reasonable degree of medical probability
19 whether the Plaintiff was injured in the
20 automobile accident of February 14th, 2000?

21 A. I have an opinion.

22 Q. And what is that opinion?

23 A. He was injured.

24 Q. Okay. Based upon those same factors
25 and within a reasonable degree of medical

1 probability do you have an opinion what those
2 injuries would have been that he received from
3 the accident?

4 A. I do.

5 Q. And what would that be?

6 A. Sprain to his back, his neck,
7 certainly to his wrist. The soreness and the
8 soft tissue kinds of things that happen to
9 people who fall or get thrown about for any
10 reason at all, these are not structural or
11 substantial injuries to bone, joint, the
12 nervous system.

13 These are injuries to parts of the
14 body that take being pushed around and recover
15 on their own pretty much no matter what you
16 do. So I see this as a sprain/strain issue
17 and -- and nothing more.

18 Q. Doctor, based upon the same factors,
19 your education, training, examination, review
20 of the records and so on, do you have an
21 opinion within a reasonable degree of medical
22 probability as to whether there is any nerve
23 compression in the lumbar area?

24 A. I believe there is none.

25 Q. Okay. Based upon the same factors do

1 you have an opinion within a reasonable degree
2 of medical probability whether there was any
3 significant finding regarding the Plaintiff's
4 neck complaints?

5 A. In my opinion there is -- there are
6 no significant findings nor significant
7 pathology or any disorder of the neck nor
8 anything that can be attributed to an injury
9 in the neck.

10 Q. Based upon same factors do you have
11 an opinion within a reasonable degree of
12 medical probability as to whether the
13 Plaintiff's complaints of headaches were
14 caused by the automobile accident of February
15 14th, 2000?

16 A. I have an opinion.

17 Q. And what is that opinion?

18 A. I think not. I don't believe that
19 headaches that he's describing would be blamed
20 on the accident. That's not to say he doesn't
21 have headaches, but that kind of phenomena
22 persisting all this long time and resistant in
23 a funny way to -- or not being treated in a
24 certain way suggest to me that this is not the
25 type of injury migraine that I would

1 recognize, and I don't think that this is a
2 phenomenon from the injury.

3 Q. Okay. Doctor, my last question is
4 based upon those same factors do you have an
5 opinion based upon a reasonable degree of
6 medical probability whether the Plaintiff
7 needed to be off work more than a short time,
8 if at all, after this accident due to injuries
9 caused by this accident?

10 A. I have an opinion.

11 Q. And what is that opinion?

12 A. That these injuries would take Mr.
13 Enlow out of the workforce for days, maybe
14 weeks, perhaps a month or two but not much
15 more and certainly not a year or two.

16 MR. GARLOCK: Thank you, Doctor.
17 I don't have any other questions.

18 MR. CLAPP: Let's go off the
19 record.

20 VIDEO TECHNICIAN: We're off the
21 record.

22 (Discussion had off the record.)

23 VIDEO TECHNICIAN: We're back on
24 the record.

25 CROSS-EXAMINATION

1 BY MR. CLAPP:

2 Q. Good afternoon, Doctor. My name is
3 Jack Clapp, and I'm here on behalf of the
4 patient in this case -- not really patient but
5 the injured party, Jeff Enlow. I have some
6 follow-up questions to those of Mr. Garlock.

7 Doctor, I noticed that throughout
8 your testimony you made little or no mention
9 of the wrist. You were aware that he has a
10 wrist injury that resulted from this accident
11 as well, correct?

12 A. Yes.

13 Q. And that's really not your area of
14 expertise but nonetheless you reviewed the
15 medical records and you saw what the other
16 doctors wrote about that, did you not?

17 A. Yes.

18 Q. You're aware that at the time of the
19 accident that his hand actually broke the
20 steering wheel, were you not? It's in the
21 medical records.

22 A. I -- I couldn't tell you where that
23 is. If it's in the records, I certainly would
24 accept that as a fact in the records.

25 Q. And, Doctor, just divergent for a

1 second, you'll agree with me you reviewed the
2 automobile accident, the accident record,
3 report, correct, that was part -- I think part
4 of your listings?

5 A. If you're talking about the Ohio
6 Traffic Crash Report, yes.

7 Q. Yes. And, Doctor, this was a
8 significant accident and there was an impact
9 speed of between 80 to 90 miles per hour
10 according to the accident report, correct?

11 A. I knew it was a high speed accident.
12 The exact numbers, if that's what it says,
13 that's what it is.

14 MR. GARLOCK: I'm going to object
15 to that just as I think being inaccurate.

16 MR. CLAPP: Well, we can go
17 through it

18 BY MR. CLAPP:

19 Q. Doctor, do you have the accident
20 report there?

21 A. Yes

22 Q. And there were some witness
23 statements to the accident, correct?

24 A. Yes

25 Q. If you look at the second witness

1 statement, a Shawn Harris, he says on 2/14
2 about 9:30 a.m. I was driving southbound on
3 State Road near Myers -- near whatever road.
4 Traffic was moderate, rainy and wet.

5 My speed was approximately 45. A
6 man in front of me was hit head-on. I had
7 looked up and seen the actual accident. The
8 south -- the Toyota was southbound at
9 approximately 45 miles an hour.

10 So, Doctor, your knowledge would
11 tell you that if he was going 45 and the
12 vehicle that hit him head on was going 45,
13 that there would be an approximate impact
14 speed of about 90 miles per hour, correct?

15 MR. GARLOCK: I'm going to object
16 and --

17 MR. CLAPP: Well, you can object.

18 MR. GARLOCK: -- and move to
19 strike. I think it calls for an expert
20 opinion. That is not a medical opinion. Also
21 I think it's hearsay. Okay. Go ahead.

22 BY MR. CLAPP:

23 Q. Doctor, would you agree with me from
24 based upon your knowledge?

25 A. Well, all one can do is restate what

1 is here. How accurate these estimates are is
2 quite another matter, but 45 and 45 is 90 in
3 anyone's book. Now, whether that was 90 or
4 100 or 80 or what, I don't -- I can't say.

5 Q. And, Doctor, from your perspective as
6 a -- both as an examiner and as a treating
7 physician there is a correlation generally
8 between the seriousness of the motor vehicle
9 accident and the injury sustained by the
10 parties involved therein; is that correct?

11 A. There is a very rough correlation
12 with lots of exceptions, but yes, the more
13 the -- the physical forces, the more the
14 capacity for injury.

15 Q. Now, Mr. Garlock asked you about the
16 EMS report. I don't think you told us about
17 the loss of consciousness and the blow to the
18 head.

19 You'll agree with me that the EMS
20 report notes that the -- that Jeff -- that
21 Jeff had a seat belt on with a shoulder
22 harness and that, in fact, the air bag
23 deployed, you see that, correct, looking at
24 the EMS report that Mr. Garlock referred you
25 to?

1 A. It's somewhere. Oh --

2 Q. I have an extra copy.

3 A. I've actually got it. I see steering
4 wheel, may have had a brief loss of
5 consciousness.

6 Q. When he was found by the EMS he was
7 awake but slow and confused. Do you see that?

8 A. No. Are we looking at different
9 things?

10 Q. Here. I have it highlighted there
11 for you, Doctor (indicating).

12 A. Yes, that is correct.

13 Q. That he doesn't remember the
14 accident?

15 A. Correct.

16 Q. Again, consistent with -- with a head
17 injury, correct?

18 A. That is true.

19 Q. And, in fact, he had a laceration on
20 the left side of the head which the bleeding
21 had stopped by -- when the EMS was there,
22 correct?

23 A. Yes.

24 Q. You don't have any reason to doubt
25 that there was some loss of consciousness as a

1 result of this impact, do you?

2 A. Yeah, I think there is a period of
3 loss of consciousness, the exact length of
4 which is -- is hard to determine, but this --
5 that record, other records tell us that that's
6 a real issue here.

7 Q. And again, when he gets to the
8 emergency room among other things he reports
9 feeling nauseated, were you aware of that?

10 A. Yes.

11 Q. And again, that's consistent with a
12 head injury?

13 A. Well, it's consistent with so many
14 things that you really wouldn't want to say
15 that has a connection with head injury because
16 it's a symptom of hundreds of maladies. It's
17 not a good or reliable symptom of head injury,
18 but it is one of many such symptoms.

19 Q. Okay. Now, Doctor, they note in the
20 emergency room chart that there is no past
21 medical history, no medications, no allergies,
22 and that's consistent with the examination you
23 did in your review of the doctors,
24 Dr. Crombie's records, his family physician's
25 records, that Jeff was not someone who had had

1 prior difficulties with any of these areas
2 that he was now complaining of; is that
3 correct?

4 A. Yes, that's my impression as well.

5 Q. He had been gainfully employed, had
6 not had prior neck, back, headaches, et
7 cetera, correct?

8 A. Yes.

9 Q. Now, they also note there are some
10 objective findings in the emergency room.
11 They notice that there is mild swelling to the
12 back of the head, correct?

13 A. Yes.

14 Q. That's an objective finding, correct?

15 A. Yes.

16 Q. And he notes obviously pain in the
17 left and right hand, the right knee, and the
18 neck also being -- displaying tenderness,
19 correct?

20 A. The tenderness and the pain
21 complaints, yes, those are in there. The --
22 and the knee which shows lack of range of
23 motion, those are all findings.

24 Q. And, Doctor, those are all consistent
25 with what you would expect from someone who's

1 experienced a trauma such as he just
2 experienced, correct?

3 A. Yes.

4 Q. And, Doctor, you'll agree that the
5 need to go to the hospital by ambulance was
6 appropriate under this circumstance?

7 A. Oh, yes.

8 Q. And that the emergency room
9 treatment, the treatment at Cuyahoga Falls
10 General Hospital was appropriate, correct?

11 A. Yes.

12 Q. And that when the emergency room
13 doctor told him he is going to feel worse
14 tomorrow, you would agree with that as well,
15 correct?

16 A. I would.

17 Q. Now, Doctor, he followed up the next
18 day with his family physician, Dr. Crombie.
19 You'll agree that that was the appropriate
20 thing to do, correct?

21 A. I do.

22 Q. And, in fact, consistent with what
23 the emergency room physician told him, he did
24 feel worse the next day, did he not, according
25 to Dr. Crombie's note?

1 He now experience -- he now
2 complains of pain in the left ear, the neck,
3 the lower sternum, back pain -- I'm sorry,
4 left hand pain, back and ribs?

5 A. That's all -- those are all things
6 that were there on Crombie's visit. They may
7 have been eclipsed by other things in the
8 emergency room, but those are certainly a part
9 of the picture that we wouldn't want to
10 ignore.

11 Q. Right. And that's I think a very
12 good point that you just raised. When you say
13 they're "eclipsed by other things," what
14 you're saying, Doctor, is that frequently
15 when someone has experienced a trauma such as
16 this that some symptoms or problems will
17 predominate so as to even cause them not to
18 recognize that something else is wrong;
19 they'll have something that's overbearing
20 that they'll be paying attention to and it
21 may be a day or two or three until they say,
22 hey, and by the way, this hurts, also,
23 that's fairly consistent, would you not say,
24 Doctor?

25 A. Yeah, I don't know that emergency

1 room physicians would be flattered by that
2 account, but basically they're treating things
3 that are most obvious and needy and -- and --
4 and might require urgent intervention then.
5 It is by no means an exhaustive and an
6 absolute evaluation of the patient.

7 So as you said, things do appear a
8 day to three days later that were present
9 before but overshadowed by bigger, apparent
10 injuries at the time.

11 Q. And, Doctor, Dr. Crombie, who again
12 was his family physician, noted -- determined
13 that he had multiple muscle strain, correct?

14 A. That sounds like a term he might have
15 used. I don't know -- yeah, here it is.
16 Muscle strain in neck and back, trapezius,
17 those are back muscles, yes.

18 Q. And that was secondary to a motor
19 vehicle accident?

20 A. Oh, yes.

21 Q. And he prescribed -- he did a couple
22 of prescriptions. He prescribed Vicodin which
23 is a prescribed pain killer, correct?

24 A. It is.

25 Q. And Flexeril which is a prescribed

1 muscle relaxant, correct?

2 A. Yes.

3 Q. Now -- and then he said come back and
4 see me in whatever period of time, I guess it
5 was a week. He said come back and see me in a
6 week, and we'll see how you're doing, correct?

7 A. I don't know that he said that
8 because I don't see in the paper, but it makes
9 sense as to what you would say to such a
10 patient, so probably something of that ilk
11 transferred between the two of them, come
12 back, you know, and let me look at you again.

13 Q. And he did, in fact, come back in a
14 week on the 22nd, and the doctor first notes
15 he's still in pain, neck and back, numbness in
16 the buttocks area, and he says seven out of
17 ten on a scale of one to ten, the pain is a
18 seven out of ten?

19 A. I don't have the exact page, but if
20 that's what's in there, it surely makes sense
21 with what I know about him and the records I
22 have looked at.

23 Q. And, Doctor, again, this is all I
24 think based upon your direct testimony what --
25 what you would expect for someone who's

1 experienced this type of trauma, this is
2 fairly -- a fairly normal course so far?

3 A. Yes.

4 Q. And he comes back again on the 29th
5 of February similarly still having neck and
6 back pain and headaches and unable to work,
7 and I think you told us that even in your
8 opinion that certainly a period of weeks or a
9 month or two, I think is what you said, of
10 being off work would be expected?

11 A. Well, it's a part of the range of
12 limitations people with this sort of thing
13 experience. What is expected is something
14 quite different. The expectation is that it
15 would be short. In this case it wasn't, but
16 the range of limitations and impairments that
17 people have can last up to a couple months.

18 Q. And at this point or about this point
19 Dr. Crombie refers Jeff for physical therapy
20 to Allied Heath Rehab, and you think that was
21 appropriate, correct?

22 A. Yes.

23 Q. Some -- the way you would treat a
24 similar patient to send them out to try and
25 increase their range of motion and try to

1 loosen up those muscles, correct?

2 A. Yes, I think that's a proper
3 undertaking.

4 Q. And, Doctor, simply because someone
5 doesn't have a fracture or an objectively
6 verifiable injury, that doesn't mean they
7 weren't injured, does it?

8 A. No.

9 Q. In fact, a good many of the patients
10 you see you rely upon their subjective
11 reporting to you of what the problem is,
12 correct?

13 A. I do.

14 Q. Now, you talked about the exam you
15 did, and one of the things you do as a
16 physician as an examiner is you try to
17 reproduce things such as the leg raising test;
18 you raise the leg and then you come back and
19 do it again and you measure whether or not
20 it's consistent, correct?

21 A. Well, one of the operational issues
22 of an examination is the reproduceability of
23 the finding, so you might do something once,
24 twice or thrice like the sensory testing with
25 a tuning fork to make sure that you got it

1 straight and the patient understands and that
2 this thing looks the same way each time. That
3 -- that's one of the canons of a good
4 physical examination.

5 Q. And similarly you observe the
6 patient, particularly in a setting like this,
7 and I think you told us that his limited leg
8 rise -- raise was synonymous with his
9 inability to bend forward that you were also
10 able to observe?

11 A. Yeah, those two would make sense,
12 that a patient who couldn't straight leg raise
13 would have trouble bending forward, since it's
14 the same maneuver with the patient standing
15 that we're talking about doing with the
16 patient lying supine.

17 Q. And Jeff demonstrated independently
18 to you, without you asking him, really
19 demonstrated both of these characteristics so
20 as to -- so as to show to you as the examiner
21 there was at least consistency in his finding,
22 was there not?

23 A. Yeah -- yeah, that's a sort of a side
24 phenomena. I mean, that wasn't what I was
25 terribly interested in, but yeah, those two

1 make sense put that somewhat jaded way, yes.

2 Q. All right. Without belaboring it,
3 Dr. Crombie continued to -- to see Jeff and
4 continued to report the findings that -- that
5 he made, and here in March we start to see
6 some numbness appearing for the first time,
7 correct?

8 A. Yes.

9 Q. As a consequence, on April 11th
10 Dr. Crombie decides to do a referral to
11 Dr. Lefkovitz. You'll agree that that was an
12 appropriate thing to do under the
13 circumstances?

14 A. Yeah, such a referral is a proper
15 course of action.

16 Q. Just so we're clear, to this point
17 you have no criticism or no objection to the
18 medical treatment that he has received from
19 Dr. Crombie, the emergency room and the
20 ambulance, the physical therapy that he has
21 received through the Allied Health System, and
22 now referral to Dr. Lefkovitz, all these
23 things from your perspective as someone who
24 has been hired by the Defendant were
25 appropriate?

1 A. Well, they're more than appropriate.
2 Those were necessities. Those are the things
3 I think any physician would do. That --
4 that's kind of the -- the way one would manage
5 such a case.

6 Q. And it's reasonable, that's what
7 you -- that's how you would do it, not only as
8 you've said, it's almost mandated, I mean,
9 under these circumstances?

10 A. Well, it's the proper course of
11 action to manage such a patient under these
12 circumstances for any physician.

13 Q. And you were asked if you were
14 familiar with Dr. Lefkovitz, and you said that
15 you and he or you had had some experience with
16 him while he was doing a residency at
17 University Hospitals in Cleveland; is that
18 correct?

19 A. Yes.

20 Q. Do you know him to have anything
21 other than a fine reputation within the
22 medical community?

23 A. No.

24 Q. He's held in high esteem or
25 considered to be certainly a reasonable and

1 competent physician by his peers?

2 A. Well, I -- I don't know about his
3 peers, but that's my opinion of him, and I
4 would assume that that's the opinion others
5 have of him.

6 Q. Now, I want come back to this, but we
7 got sidetracked. I wanted to ask you about
8 the wrist injury. You didn't really comment
9 about that at all.

10 You'll agree that there is a -- he
11 has been examined by two orthopedic surgeons
12 who have both felt that he had an injury to
13 his wrist, traumatic injury to his wrist from
14 this automobile accident that ultimately
15 should be corrected by surgery?

16 MR. GARLOCK: Objection

17 THE WITNESS: Okay.

18 BY MR. CLAPP:

19 Q. Are you aware of that, Doctor?

20 A. I am.

21 MR. GARLOCK: Objection, move to
22 strike.

23 MR. CLAPP: Basis for your
24 objection?

25 MR. GARLOCK: Well, you're trying

1 to get somebody else's opinion about surgery
2 from somebody who's not qualified in that
3 area.

4 BY MR. CLAPP:

5 Q. Well, Doctor, you reviewed the
6 records, correct?

7 A. Yes.

8 Q. And based upon your review of the
9 records there was a recommendation made that
10 Jeff undergo surgery on that wrist, correct?

11 A. Yes. There has been one such
12 recommendation in this case.

13 MR. GARLOCK: Objection.

14 BY MR. CLAPP:

15 Q. And, Doctor, that's based upon a
16 series of objective findings and
17 determinations, correct?

18 A. Well, you better ask the person who
19 made the suggestion what he based it on since
20 this is something far afield from what I do
21 and know about. The reasons for surgery or
22 not having surgery are something I -- I really
23 can't tell you much about.

24 Q. Well, let me just make sure. You
25 don't -- you don't have any issue with the --

1 as an independent medical examiner hired by
2 the Defendant here, you're not -- you have no
3 criticism to offer nor do you take any issue
4 with the necessity for the treatment of his
5 wrist and the recommendations that are made by
6 the orthopedic surgeons in furtherance of
7 that, do you?

8 A. No.

9 Q. And again, relative to their care and
10 treatment, you'll agree that that was both
11 reasonable and necessary under the
12 circumstances here?

13 A. The parts I've seen about his wrist,
14 that was the kinds of things you should have
15 done for your wrist in this case.

16 Q. So at a minimum we know that Jeff
17 Enlow here in January of 2003 still continues
18 to suffer from that ongoing wrist injury which
19 still has not been corrected surgically,
20 correct?

21 A. Correct.

22 Q. And to the best of your knowledge
23 he'll continue to experience those symptoms
24 into the indefinite future, correct?

25 A. Well, not unless he does something

1 about it or gets some other treatment.

2 Q. Now, similarly you talked to us a
3 little bit about the neurologist who did the
4 examination at the Cleveland Clinic, and that
5 -- that was a referral; he had been referred
6 there to be seen on a -- on a basically what
7 appears to be a one-time basis by this
8 neurologist at the Clinic, Dr. Whitfield,
9 correct?

10 A. Yeah, this looks to me like a one-
11 time visit with Dr. Lefkovitz playing some
12 part in this, but who sent him there and how
13 it happened, I couldn't tell you.

14 Q. You know Dr. Whitfield?

15 A. I don't.

16 Q. You don't. He is a neurologist here
17 in the greater Cleveland area as well
18 practicing at the Clinic, correct?

19 A. I'm not even sure he's a neurologist.
20 He might be, but I don't know what his
21 specialty is.

22 Q. I'm sorry, he's a neurosurgeon?

23 A. That could be.

24 Q. Well, if you look at the first
25 sentence it says I was happy to see Mr. Enlow

1 in a neurosurgical consultation.

2 A. Yes, oh, indeed he is a neurosurgeon.

3 Q. And his opinion was that he had a
4 traumatic right -- can you pronounce that for
5 me on the second page? It's my impression the
6 patient has a traumatic right --

7 A. Oh, that's a meralgia paresthetica.

8 Q. And that secondary to trauma to his
9 right lateral femoral cutaneous nerve. He
10 says this may be a seat belt injury or direct
11 compression from the steering wheel.

12 So again, this problem that he is
13 having was a direct consequence of the motor
14 vehicle accident he was in, correct?

15 A. Well, if you're talking about the
16 meralgia paresthetica, I'm not so certain that
17 that's a consequence of the accident.

18 Q. So you disagree with Dr. Whitfield's
19 conclusions at the Cleveland Clinic, the
20 neurosurgeon, when he says that it was, quote,
21 secondary to trauma and a seat belt injury or
22 direct compression from the steering wheel?

23 A. I -- I don't find for sure that he
24 has meralgia paresthetica. Even if he does, I
25 don't think it came from the accident.

1 Q. Okay. Where do you think it came
2 from?

3 A. Well, there are many other reasons
4 people get this: Compression over the pelvis,
5 tight garments, other injuries, and there are
6 some people who get it for no apparent reason
7 at all.

8 Q. In this case, though, you have a
9 person who's experienced a substantial trauma,
10 was a restrained passenger in a vehicle,
11 restrained by the seat belt, and you're
12 telling the ladies and gentlemen of the jury
13 that it's your opinion that that had nothing
14 to do with it, but some other extraneous cause
15 that we have no idea of is what brought this
16 on, is that what you're trying to tell us?

17 A. What I would tell you on that subject
18 is if he injured his lateral femoral cutaneous
19 nerve in a trauma, he would tell you about it
20 right then and there, and the emergency room
21 wouldn't be fussing over his wrists and other
22 things. They would be talking to him about
23 the nerve which hurts a great deal when you
24 compress it or bother it.

25 So if he had this kind of nerve

1 syndrome, it would have surfaced immediately
2 and early. The abscess of meralgia
3 paresthetica symptoms and lateral femoral
4 cutaneous nerve symptoms at the time of the
5 accident and the immediate aftermath argues
6 strongly against it being caused from an
7 injury.

8 Q. And what kind of symptoms would you
9 have expected to see, Doctor?

10 A. Lots of pain.

11 Q. Where?

12 A. Right in the anterior -- front part
13 of the right leg. It's a very peculiar
14 tingling, burning, crawling, very distracting
15 symptom.

16 The nerve is -- largely conveys
17 information to and from the skin, so it's like
18 an information system, and it tingles a lot,
19 and people are annoyed greatly about it to the
20 point of distraction.

21 Q. So when you say "tingling," your
22 tingling could easily be someone's description
23 of numbness, correct, that's what tingling is
24 often described as is a numbness tingling off
25 and on like when your arm goes to sleep?

1 A. Yeah, although meralgia paresthetica
2 patients add a lot more adjectives than just
3 that because of the exquisite and very
4 peculiar nature of the discomfort.

5 Q. So -- and you're aware, Doctor, that
6 within a week of the accident he was, in fact,
7 complaining of numbness in the thigh area,
8 numbness in the, buttocks area?

9 A. Buttocks wouldn't count. The nerve
10 doesn't go there, and that's not the kind of
11 description that sounds to me like a direct
12 nerve injury. Could be but I don't think so.

13 Q. Oh, okay. So in your opinion it
14 could be, but you don't think it is.

15 And, Doctor, you'll agree with me
16 that you saw this patient on one occasion more
17 than two years after the accident and that the
18 folks who were seeing him on a daily basis and
19 contemporaneous with the injury sustained
20 would be in a better position to really
21 evaluate what was going on then you're trying
22 to do so two years later, would you not?

23 A. I would not.

24 Q. So you don't think the doctor sees
25 somebody shortly afterwards, has hands on the

1 patient is able to do an evaluation is in a
2 better position to determine an issue than
3 someone who reviews records two years later
4 and sees the patient long after the event?

5 A. Well, depends on the issue that
6 you're talking about evaluated. If it's
7 something that disappears like the swelling
8 and the laceration, surely the -- the
9 individual who saw it when it was there has
10 got something that people who look at him when
11 it's gone don't have.

12 As to whether someone looking at
13 an individual long after the events took
14 place, he has no more or less wisdom other
15 than his diagnostic skills and what the
16 records bring out, so just seeing somebody
17 after an injury doesn't mean you have missed
18 the best part or you're missing anything at
19 all. In fact, you may have a better
20 perspective than people seeing him at the
21 beginning.

22 Q. Doctor, while we're on that thought,
23 we're obviously here today because you have
24 been hired by -- by the Defendant in this
25 case, Mr. Garlock.

1 You've done work for Mr. Garlock
2 or other members of his firm in the past, have
3 you not?

4 A. Yes.

5 Q. And it's my understanding you've done
6 this kind of work now for a little over 20
7 years?

8 A. That's correct.

9 Q. And that you do maybe not five a week
10 but on average you kind of do about one -- one
11 of these defense medicals a day?

12 A. That's a little high. I do anywhere
13 between one and 200 such exams for Plaintiffs
14 or Defendants or people who turn into
15 Plaintiffs and Defendants later down the road
16 every year.

17 Q. Well, let's break that out. When you
18 say you do them for Plaintiffs, you're
19 obviously providing services for people who
20 get referred to you for treatment, correct,
21 and they could potentially become Plaintiffs?

22 A. That's one version, yes.

23 Q. And in this case you were hired by
24 the Defendant not to provide any treatment at
25 all to Jeff Enlow, correct --

1 A. Correct.

2 Q. -- simply to examine him and provide
3 a report?

4 A. Correct.

5 Q. Now, when a patient normally comes in
6 to see you for an examination or -- or is a
7 referral to you from another physician or
8 calls you out of the phone book, you have a
9 certain charge for that, correct?

10 A. Yes.

11 Q. And you have a charge for these
12 defense medical exams that you do, correct?

13 A. Yes.

14 Q. And in this case it's my
15 understanding that your charge for the exam
16 was \$1100?

17 A. I believe that's correct.

18 Q. And in addition you're obviously
19 charging to be here today for your deposition?

20 A. Yes.

21 Q. And how much do you charge for that,
22 Doctor?

23 A. It depends on the length of the
24 deposition.

25 Q. Well, why don't you break that out

1 for us, if it's how much an hour or a minimum
2 charge or what.

3 A. \$500 an hour.

4 Q. So you're charging -- and is there a
5 minimum?

6 A. No.

7 Q. So it's \$500 and we've been at --
8 been here a little over -- about an hour and a
9 half, so we're at about a thousand dollars
10 plus the \$1100 for the exam, so it's about
11 \$2100 to date for your involvement with this
12 patient for whom you provided no treatment
13 whatsoever, correct?

14 A. That is correct.

15 Q. You don't charge patients coming in
16 off the street \$1100 to examine them, do you?

17 A. On a single examination, no. The
18 charge for any examination is the same whether
19 it's a patient or a Defendant or a Plaintiff.

20 The charges you're talking about
21 are not medical services. Those are reviewing
22 of records, looking at x-rays, that kind of
23 thing, and that's a whole separate set of fees
24 and charges.

25 Q. Well, Doctor, these exams that you do

1 which you've said are between 100 and 200 a
2 year, the overwhelming majority of those are
3 on behalf of Defendants or insurance
4 companies, are they not?

5 A. No. Over the years I have gravitated
6 to doing more Plaintiff's work, and it's
7 getting close to being 50/50. It's probably
8 more like 60/40, but I do more Plaintiff's
9 work every year.

10 Q. So you're doing 60 percent defense
11 and 40 percent you're seeing Plaintiffs?

12 A. Very roughly, because it's hard to
13 keep track of who's who and which side you're
14 on in some of these deals, but the majority
15 are people who turn out to be in a defense
16 situation, but it's not a big majority. It's
17 a small majority.

18 Q. How many -- in the last five years
19 how many people have you seen on behalf of Mr.
20 Garlock or other members of his firm, that
21 includes Mr. Hanson, Mr. Isakoff?

22 A. I can give you an estimate. A
23 handful. I don't know, three, four, five.
24 Isakoff I don't know. I don't know Gillis or
25 Walsh, if they're still there. So there have

1 been a few, but not very many.

2 Q. And, Doctor, as I understand it your
3 opinions in this case relate to the long-term
4 disability of Jeff Enlow as they relate to
5 neurological problems, not orthopedic
6 problems, correct?

7 A. Well, where orthopedic and neurologic
8 overlap, like in the spine, my opinion is as
9 good as an orthopedist's. In areas where they
10 don't, like joints of the wrist, I don't have
11 an opinion.

12 Q. You -- you talked briefly about the
13 MRI. Doctor, you'll agree that under the
14 circumstances that the cervical MRI on April
15 4th was an appropriate exam to do to determine
16 if there was any underlying process causing
17 the problems, correct?

18 A. I do.

19 Q. And similarly when he continued to
20 have problems with his lumbar spine that it
21 was appropriate to have an MRI of the lumbar
22 spine, correct?

23 A. I think it was more than appropriate.
24 I think it was a necessity.

25 Q. Now, Doctor, you suggested that you

1 felt that the disk problem that's described in
2 the MRI report predated this accident,
3 correct?

4 A. No, I've said that the phenomena that
5 showed up in that report is a long term one
6 and probably began many months and perhaps
7 years before the accident.

8 Q. Well, Doctor, you'll agree with me
9 that when there is an ongoing degeneration of
10 the lumbar disk over a period of time one of
11 the phenomena that you see is a loss of
12 vertebral disk height space, in other words,
13 as the disks degenerate and they start to dry
14 out some, there is a lessening of the height
15 between the disks, correct?

16 A. Yes.

17 Q. And usually you see that -- anywhere
18 after six months to a year you'll start to see
19 some loss of disk height space, correct?

20 A. Six months or a year of what?

21 Q. Of ongoing desiccation, ongoing
22 degeneration?

23 A. Oh, who knows. I mean, these are
24 vague measures of spine function. You look at
25 spines of people who have no symptoms at all

1 and they have variable disk height.

2 You look at MRI scans of people
3 without spine complaints and they have
4 desiccation. So when you start to lose height
5 as to the relationship between symptoms and
6 changes in water content desiccation, I don't
7 think anybody knows that.

8 Q. Well, Doctor, you'll agree with me
9 that relative to Jeff there was no loss of
10 disk space -- disk space height, correct?

11 A. Yeah, I believe that's correct.

12 Q. So there is at least no indication
13 that this had been a long-term, ongoing
14 process such as to cause the disk to dry out
15 or desiccate?

16 A. Well, desiccation, as I said, is a
17 long-term process. It goes on like long,
18 long, you're talking years, months -- many
19 months, and it's happening to us while we're
20 sitting here.

21 How fast it's going and -- and how
22 you measure it is -- is another issue
23 altogether, but when you see that you're
24 looking at something that's been there a long
25 time.

1 Q. Doctor, I'd like to take and hand you
2 a copy of what I'm going to mark as
3 Plaintiff's Exhibit 1 Dr. Mann.

4 (Plaintiff's Exhibit No. 1
5 marked for identification.)

6 BY MR. CLAPP:

7 Q. Doctor, this is simply a
8 recapitulation of the medical charges that
9 have been incurred -- that were incurred by
10 Jeff Enlow since the date of this accident and
11 many of which we've talked about and talked
12 about the need for them.

13 And what I would like you to do is
14 take a moment and look at this and tell me if
15 you're critical of any of these charges, if
16 you feel they weren't reasonable or necessary
17 under the circumstances here, and I think
18 we've covered a good bit of them.

19 We've talked about the MRIs.
20 We've talked about the need for physical
21 therapy, the referrals, the emergency room,
22 but I simply want you to tell the ladies and
23 gentlemen of the jury if there is any charge
24 in particular that you feel is unreasonable?

25 A. Well, the charges which I will direct

1 my attention to are those that I think are
2 connected with the accident. Whether they're
3 reasonable or not, depending on who allows
4 such thing, is quite another question, but
5 those things I exclude from the injury are the
6 following: The -- Lefkovitz's \$8,000 charge
7 for -- that's got to be some kind of therapy
8 and treatment.

9 That seems like a huge amount to
10 me beginning in the time when the patient
11 should have been getting better. So a couple
12 consultations and office visits sounds fine
13 but not \$8,000 worth.

14 If there are multiple charges for
15 this scan reading -- because I see Nydic and
16 Hill & Thomas and American Imaging coming up
17 to a couple grand, that doesn't make sense to
18 me.

19 Now, there may be more than one
20 scan, but you don't render charges for reading
21 the same scan over and over again or you don't
22 pay them and no insurance company would.
23 Cuyahoga Falls General Hospital June 4th of
24 '01, I'm -- I don't know what that's about.

25 Q. I think that's the -- I think in all

1 fairness I think that's the emergency rooms,
2 there is no other emergency room on there?

3 A. Well, Summa Health on 2/14 --

4 Q. That's true.

5 A. -- so I don't know what that is
6 about. I will say that Summit Hand Center, I
7 mean, that doesn't sound like enough for what
8 this guy was getting with an arthrogram, so
9 something is missing there.

10 Edwin Shaw Rehab, again, we're
11 talking about lots of treatment, \$5,000 worth
12 on top other physical therapy, very confusing
13 to me. It doesn't look to me like something
14 you'd stick to the accident. So I find
15 excessive treatment related to the accident in
16 those three arenas. Everything else looks
17 fine and maybe like not enough.

18 Q. Okay. So you have some criticism of
19 the overall charge perhaps, and we're at
20 \$25,000 here, and from your mind if we knocked
21 off something for Dr. Lefkovitz and knocked
22 off the rehab, we'd be down closer to 17,
23 18,000 would be what you would expect to be
24 reasonable considering that there isn't enough
25 for the hand surgery?

1 A. Well, criticism is not what I'm
2 doing. I'm just saying how much of this is
3 from the accident. I would say in the range
4 of 10 -- 15 tops. You throw in a couple MR
5 scans at a thousand dollars a piece, emergency
6 room is a couple thousand, so on, physical
7 therapy, but that's -- that's about where I
8 would draw the line for this type injury.

9 Q. So 15,000 in your opinion?

10 A. Yes, roughly speaking.

11 Q. Okay. And perhaps give or take a
12 little bit, and we can do the math, but I know
13 you would -- you would give Dr. Lefkovitz some
14 amount of charge for having seen him at least
15 a few times, correct?

16 A. As relates to the accident,
17 absolutely, a consultation fee, office visits,
18 some therapy. He did an ENG, nerve conduction
19 study, maybe that's in there, too. That's --
20 can be a thousand dollar expense, so -- so
21 yes, some of that but not all of it.

22 Q. Okay. That's fair enough. Doctor,
23 you'll agree with me that headaches can
24 certainly result from tightness in the
25 paraspinal muscles?

1 A. Headaches of a certain type, yes.

2 Q. Doctor, you talked about atrophy of
3 the muscles. Did you measure the
4 circumference of his -- of his calf muscles?

5 A. I did not.

6 Q. You just eyeballed it?

7 A. Yeah, in most patients, certainly
8 patients who aren't overweight, you can assess
9 muscle size and can -- the shape of the muscle
10 counts, too, because sometimes they're
11 different shapes on different sizes, but you
12 can get a rough idea of what the size of the
13 muscle is just by looking at it and comparing
14 it to the opposite member.

15 Q. And, Doctor, you told us that -- that
16 from your perspective at least anywhere from
17 several weeks to a couple months would have
18 been reasonable to have -- have been off work?

19 A. For the type of job that I understand
20 him to have, which is basically in an office,
21 yes.

22 Q. Doctor, in terms of your examination
23 of Jeffrey Enlow, did you find him to be
24 cooperative?

25 A. Yes.

1 Q. As best you could tell was he being
2 honest with you?

3 A. Yes.

4 Q. There wasn't anything that jumped out
5 at you that he was making up or that was
6 dishonest in what he told you?

7 A. No. I think he's forthright and open
8 about his symptoms.

9 MR. CLAPP: Doctor, I don't have
10 anything further at this time. Thank you.

11 REDIRECT EXAMINATION

12 BY MR. GARLOCK:

13 Q. Doctor, I'll try to make this brief
14 since we're getting charged by the hour.

15 The -- you were asked about the
16 EMS record and the loss of consciousness.
17 Does that indicate whether it appeared to be a
18 long loss of consciousness or short?

19 A. Short is -- is the way people
20 characterize this. These things are
21 notoriously difficult to pin down to seconds
22 and minutes. Nobody is sitting there with a
23 stopwatch, but this is one of the shorter ones
24 as these things go. A long one would **be**
25 hours, kind of thing.

1 Q. And on the ER records you were asked
2 a number of questions relating to a head
3 injury.

4 Under the impression on the ER
5 records does it say anything about a head
6 injury?

7 A. I don't think so. I think they just
8 diagnosed his neck and hand. Yeah, that's all
9 they did.

10 Q. And the CAT scan of the head, was
11 that normal or not?

12 A. It was normal.

13 Q. And that was done at the emergency
14 room?

15 A. Yes.

16 Q. You mentioned that -- you were asked
17 questions about the first visit I think to
18 Dr. Crombie. You mentioned the trapezius area
19 apparently being mentioned in the records.

20 Is that part of the upper back,
21 middle back, lower back, what -- what is that?

22 A. It's really lower neck and upper
23 thoracic region. It's a muscle that stretches
24 to the shoulders from the neck.

25 Q. And the visit about a week after the

1 accident of February 22nd you were asked about
2 a couple of things. That one dealt with the
3 fact that it says back pain. Does it specify
4 upper, middle or lower or does it simply say
5 back?

6 A. It just says back and doesn't say
7 which part.

8 Q. And the -- you were asked questions
9 about numbness that came up a week after and
10 specified that -- that visit.

11 In relation to the nerve that
12 Dr. Whitfield was talking about do you see any
13 mention of numbness there other than into the
14 buttocks?

15 A. That's all I see.

16 Q. And would that have anything to do
17 with the nerve that Dr. Whitfield was talking
18 about?

19 A. No, that's far distant. It's another
20 nerve altogether. It's around behind, and the
21 one we're talking about is out in front, so
22 they're different territories.

23 Q. You were asked whether or not you
24 would be in a position to make comments on Mr.
25 Enlow and his complaints as well as somebody

1 who saw him early on. The records indicate
2 that Dr. Whitfield apparently saw him February
3 22nd of 2001, about a year after the accident

4 Is it common or uncommon to have
5 second opinions a year or two years or even
6 more after an accident or an injury?

7 A. No, that's the common practice in the
8 way we practice medicine in North America
9 whether -- even if it's not an injury, people
10 go around looking for answers to their
11 questions. That's perfectly normal.

12 Q. Is there any standardization of the
13 way medical records are kept and, if so, does
14 it relate to people getting second opinions?

15 A. Only that it's sometimes stated as a
16 second opinion, but the process is the same.
17 You look at the patient and start from
18 scratch: What's wrong, where do you hurt, do
19 a full physical examination.

20 Whether it's a second opinion, a
21 third or a first, you do the same evaluation.
22 It's the same thing I did when he came in. He
23 got the same kind of examination that anybody
24 would with that sort of problem.

25 Q. Okay. Doctor, you were asked a few

1 questions about your having testified for me
2 other people in my office.

3 Do you remember last time you
4 testified for me?

5 A. It must have been a long time ago. I
6 don't know whether I have ever testified for
7 you. If so, it's way beyond my memory.

8 Q. Do you charge -- when you testify on
9 behalf of Plaintiffs and take your time to
10 testify to a Plaintiff, is the charge
11 different than what you charge Defendants?

12 A. No, it's the same fee structure.
13 It's the same work.

14 Q. Do you know anybody that testifies
15 for free?

16 A. No.

17 MR. GARLOCK: I don't have any
18 other questions.

19 RECROSS-EXAMINATION

20 BY MR. CLAPP:

21 Q. Doctor, just a quick follow-up. This
22 case is -- is pending in Summit County in
23 Akron. We're here today at your offices and
24 they're on the east side of Cleveland,
25 correct --

1 A. Yes.

2 Q. -- South Euclid? Are there a number
3 of good neurologists in the Akron area?

4 A. Yes.

5 Q. Do you have any -- any idea why they
6 chose to send Jeff up here to Cleveland to
7 have him examined by you rather than having
8 him examined by a physician in the Summit
9 County or Akron area?

10 A. I don't.

11 Q. And just one final question: Just so
12 we're clear, when the EMS showed up Jeff's
13 chief complaint was head and knee -- head and
14 knee pain, correct?

15 A. I believe that's correct.

16 Q. And the emergency room chart clearly
17 indicates that there was swelling on the
18 back -- on the back side of his head and a
19 laceration, correct?

20 A. Yes.

21 Q. Doctor, generally speaking a blow to
22 the rear of the head is more serious than a
23 blow to the forehead, correct?

24 A. Never heard that before.

25 Q. You don't have any opinion on that?

1 A. I do have an opinion. The location
2 of the blow doesn't count so much as the speed
3 and whether the recipient skull is moving at
4 the time. So -- I mean, the skull is like an
5 egg shell. Where you hit it doesn't matter so
6 much as how you hit and what it is doing when
7 the impact occurs.

8 Q. And in this case to have a blow to
9 the back of the head it would appear that the
10 skull impacted the back of the truck, he was
11 in a pickup truck, rather than something
12 impacting him?

13 A. Oh, yeah, there must be some source
14 of this, a window, a flying object, door post,
15 yeah, there's got to be something to do that.

16 MR. CLAPP: Doctor, I thank you.
17 I don't have any further.

18 MR. GARLOCK: I don't have any
19 other questions.

20 VIDEO TECHNICIAN: Doctor, you
21 have the right to review this videotape or you
22 may waive that right.

23 THE WITNESS: I wish to waive.

24 VIDEO TECHNICIAN: Thank you.
25 Will counsel waive the filing of the

1 videotape, please?

2 MR. GARLOCK: Yes.

3 MR. CLAPP: It's okay with me.

4 - - -

5 (Deposition concluded at 5:46 o'clock p.m.)

6 - - -

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

STATE OF OHIO,)
) SS:
 SUMMIT COUNTY.)

I, Eric G. Smead, an RPR and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, DONALD C. MANN, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by the witness was by me reduced to Stenotypy in the presence of said witness, afterwards transcribed upon a computer; and that the foregoing is a true and correct transcription of the testimony so given by the witness as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee of or attorney for any of the parties in the above-captioned action; I am not a relative or employee of an attorney of any of the parties in the above-captioned action; I am not financially interested in the action; and I am not, nor is the court reporting firm with which I am affiliated, under a contract as defined in Civil Rule 28(D).

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio on this 13th day of January, 2003.

 Eric G. Smead, an RPR and Notary
 Public in and for the State of Ohio.

My Commission expires January 10, 2005.