

1 The State of Ohio,)
 2 County of Cuyahoga.) SS:

Doc. 468

3 IN THE COURT OF COMMON PLEAS

4 Cheryl Nola, et al.,)
 5 Plaintiff,) Case No.

6 - vs -) 277238

7 Brendon Hernon,)
 8 Defendant.)

9 - - - 000 - - -

10 Deposition of DONALD MANN, M.D., a
 11 witness herein, called by the Plaintiff as
 12 if upon direct examination under the
 13 statute, and taken before Luanne Protz, a
 14 Notary Public within and for the State of
 15 Ohio, pursuant to the agreement of counsel,
 16 and pursuant to the further stipulations of
 17 counsel herein contained, on Tuesday, the
 18 10th day of October, 1995 at 3:30 P.M., at
 19 the offices of Donald Mann, M.D., 1611 South
 20 Green Road, the City of Cleveland, the
 21 County of Cuyahoga and the State of Ohio.

22 - - - 000 - - -

23

24

25

1 **APPEARANCES:**

2

3

On behalf of the Plaintiffs:

4

Nurenberg, Plevin, Heller &

5

McCarthy, by:

6

David Paris, Esq.

7

8

9

On behalf of the Defendant:

10

Patrick Corrigan, Esq.

11

12

13

- - - 000 - - -

14

15

16

17

18

19

20

21

22

23

24

25

1 **INDEX OF OBJECTIONS**

2 **BY MR. CORRIGAN:**

3 **PAGE** **LINE**

4 23 20 - *Wd*

5 24 21

6 25 13 *cont*

7 26 6

8 29 7

9 29 10

10 30 1 *over*

11 30 18

12 **BY MR. PARIS:**

13 35 19 - *same*

14 36 10 - "

15 36 13 - "

16 38 7 - *Wd*

17 **BY MR. CORRIGAN:**

18 50 11

19

20

21

22

23

24

25

1 P R O C E E D I N G S

2 MR. PARIS: I'll ask, Pat,
3 whether you'll enter into the same stipula-
4 tion that we did with Dr. Hugus which is to
5 waive any defects in the notice and service
6 of this deposition.

7 MR. CORRIGAM: Yes, I'll do that.

8 MR. PARIS: And we'll waive the
9 one-day filing requirement of the transcript
10 and the requirement that the videotape be
11 filed with the court, and we'll allow Dave
12 Tackla to retain custody?

13 MR. CORRIGAN: Fine.

14 DONALD MANN, M.D., being of lawful
15 age, having been first duly sworn according
16 to law, deposes and says as follows:

17 DIRECT EXAMINATION OF DONALD MANN, M.D.

18 BY MR. PARIS:

19 Q Doctor, my name is David Paris. I
20 represent the Nola family. Would you tell
21 the ladies and gentlemen of the jury your
22 full name, please?

23 A Donald Charles Mann.

24 Q And, Dr. Mann, what is your profession-
25 al address?

1 A 1611 South Green Road in the University
2 Suburban Health Center building in South
3 Euclid.

4 Q You are a medical doctor?

5 A I am.

6 Q And when did you become so licensed in
7 Ohio?

8 A 1974.

9 Q Are you licensed in any other states?

10 A I am.

11 Q What states?

12 A Ohio -- besides Ohio, I'm licensed in
13 Indiana and California.

14 Q Your specialty is neurology?

15 A It is.

16 Q And can you explain to us what that is?

17 A Diseases of the nervous system, every-
18 day examples being things like migraine,
19 epilepsy, brain tumors, stroke, nerve in-
20 jury, Alzheimer's disease, multiple sclero-
21 sis; any affliction of the brain, spinal
22 cord, nerves that run in the arms and legs,
23 the structures that support the nervous
24 system; that's the skull and the spine,
25 would come in the purview of neurology.

1 **a** Doctor, since you're going to be
2 expressing expert opinions this afternoon,
3 would you tell the ladies and gentlemen of
4 the jury a little bit about your educational
5 background, your credentials and other
6 qualifications that permit you to be an
7 expert in this case.

8 A Yes. I graduated from medical school
9 in 1968. I then was able to practice
10 medicine but went on to additional training
11 in the area of neurology, the additional
12 training being one year of medicine at
13 Indiana University. I went to medical
14 school, and then three years of specialty
15 training in neurology. So, four years of
16 medical school and four years of training
17 thereafter qualified me to be able to
18 practice the specialty of neurology.

19 Q And how long have you been in private
20 practice?

21 A Twenty-five years.

22 Q Are you a Board certified neurologist?

23 A I am.

24 Q And what is Board certification in your
25 area?

1 A It is an examination to test the skills
2 of a practitioner in a specialty. In other
3 words, it's something beyond just general
4 medicine. For us the test is a day-long
5 written examination and a live examination
6 in which one is literally watched taking a
7 history, doing a physical, presenting such
8 cases, and successfully completing that
9 exercise enables one to be considered
10 competent to practice the specialty at its
11 highest levels.

12 Q Would you tell us some of the major
13 medical organizations, societies and
14 associations to which you belong?

15 A Yes. The local medical society is the
16 Cleveland Academy of Medicine. I belong to
17 the Ohio State Medical Association and a
18 national group called the American Academy
19 of Neurology.

20 Q And with which hospitals in our
21 community do you have staff and courtesy
22 privileges?

23 A The main one is University Hospitals of
24 Cleveland. I'm also on the staff of
25 MetroHealth and Geauga hospitals.

1 Q Are you involved in the teaching of
2 medicine to students and doctors?

3 A I am.

4 Q To what extent?

5 A Now my teaching post has been in the
6 neurology clinic at MetroHealth supervising
7 residents and interns. In the past I've
8 done ward teaching, classroom teaching,
9 teaching at the VA, teaching at clinics at
10 University Hospitals. So, I've done any
11 number of things over the years.

12 Q Doctor, in your professional capacity,
13 have you had occasion to see and treat
14 Cherie Nola?

15 A I have.

16 Q All right. For any of my questions and
17 any of Mr. Corrigan's questions, feel free
18 to refer to your office records' your notes
19 and so forth, all right?

20 A Yes.

21 Q First of all, would you tell us on
22 which date you -- you first saw her?

23 A I saw Ms. Nola on July 26th, 1994.

24 That would be about nine months ago.

25 Q And did you obtain a history as to what

1 her problems were?

2 A I did.

3 Q Would you tell us briefly about that?

4 A On that occasion, she had numbness in
5 the left arm, neck pain, some numbness in
6 the left leg, and pain in the mid chest
7 region in the spine and the back. And --
8 and those were her -- essentially her
9 complaints at that time.

10 a Did you find out what brought upon
11 those problems?

12 A I did.

13 Q And tell us what that was.

14 A She had these pains after an accident
15 which occurred on May 11th. So, that would
16 be two months before I saw her, when her
17 vehicle was struck by another from behind.

18 Q All right. Did you perform a physical
19 examination?

20 A I did.

21 Q Tell us about that.

22 A The examination was two-part, a spine
23 exam and a neurologic. I tested her neck
24 range of motion or her spine range of
25 motion, straight-leg raising test to see if

1 there was any pressure on the sciatic nerve.
2 I tested sensation in the extremities to see
3 if she had any loss of feeling. That would
4 go with numbness. I tested her strength. I
5 tested her reflexes, and I looked at the
6 nerves that go to the special senses in the
7 face.

8 Q And based upon the history that she
9 gave you, her complaints, the findings that
10 you made on the examination, did you
11 formulate an initial diagnosis at that time?

12 A I did.

13 Q And what was your diagnosis?

14 A That she suffered a thoracic disk
15 herniation, and that caused her chest pains,
16 and that she had a pinched nerve or a root
17 disorder in the neck causing neck pain and
18 her left arm symptoms.

19 Q All right.

20 A And a smaller degree of trouble at the
21 lower back, in that order of importance.

22 Q Did you understand from this first
23 visit that, prior to her seeing you, she had
24 been under the care of her family doctor,
25 Dr. Charles Hugus?

1 A Yes.

2 Q And did you also understand that she
3 had been prescribed a course of physical
4 therapy at Brentwood Hospital?

5 A Yes.

6 Q And did you also understand that she
7 had been to University Hospitals for an MRI
8 film shortly before she saw you?

9 A Correct.

10 Q And did you have an opportunity to
11 review that MRI scan?

12 A I had reviewed the report, yes.

13 Q Okay, and what did that report
14 indicate?

15 A The report from the MR scan in July of
16 1994 pointed to a disk herniation between
17 the 7th and 8th thoracic vertebrae at T-7/8
18 disk -- I'm sorry, T-8/9, between 8th and
19 9th, and a herniation to the left side.

20 Q Okay. Can you tell us, Doctor, whether
21 or not we have those films with us that we
22 can show the jury those disk herniations?

23 A We do, and we can.

24 Q Okay, and before we go on, Doctor,
25 recently in September of 1995 while she was

1 still under your active care and treatment
2 and the active treatment of Dr. Hugus, did
3 she have a follow-up MRI scan?

4 A She did.

5 Q And I think that was in September of
6 '95; is that right?

7 A Correct.

8 Q And what did that MRI scan disclose?

9 A It shows the same disk herniation at
10 8/9 and one above it as well at 7/8. So,
11 there -- a year later there are now two disk
12 herniations, the bigger one being that found
13 in 1994, but there are now two.

14 Q Okay. If we can go off the record a
15 minute, and we'll get set up for showing the
16 -- the MRI films, okay?

17 MR. PARIS: Off the record,
18 please.

19 (At this time a short recess was
20 had.)

21 BY MR. PARIS:

22 Q Doctor, we have appearing on the screen
23 a -- an MRI film. Can you tell us, first of
24 all, what this depicts and what the MRI
25 imaging technique is?

1 A We're now looking at a sideview of the
2 thoracic or the chest spine, so if you're
3 standing, looking at someone from the side,
4 their profile, so to speak, this is what
5 you'd see in the chest if you could actually
6 look through the chest, and that can be done
7 by a magnetic field applied to the spine
8 and, then, reversed. You can get pictures
9 of the structures within the chest by
10 looking at their magnetic properties
11 basically, and the structures you see are
12 the vertebrae, the spinal cord, the skin,
13 and of course the organs elsewhere in the
14 chest. So, it's a good technique for
15 looking inside at solid organs.

16 a And which are the bony vertebrae --
17 vertebrae?

18 A The vertebrae are stacked here. You
19 see these are all vertebrae or vertebral
20 bodies, each one of them perfectly situated
21 on top of the other.

22 Q The lighter blocks, and in between
23 those vertebrae, what do you see?

24 A Between them, this space here, for
25 instance, the dark pancake-like structures

1 are disks. Those are cushions. They're
2 made of cartilage. They're softer than
3 bone. They're not soft, but they enable
4 motion to take place at a very low level in
5 the spine.

6 Q Okay, and in the area immediately to
7 the right of the vertebrae in the disks?

8 A Okay. This whitish column here is the
9 spinal column. It has that white color
10 because of the fat covering. There's a thin
11 layer. Within it is the spinal cord.

12 Behind it is the back itself, and here's
13 muscle and fat, and here's the skin, this
14 dense, thick material. It looks thicker
15 than it is because you're seeing several
16 layers on end, but this is skin. This is
17 muscle. This is spinal cord. This is
18 vertebrae. This now is the chest here.
19 There's heart, lung and that.

20 Q To the left there?

21 A Correct.

22 Q Okay. Now, what, if anything, unusual
23 is depicted on this particular frame?

24 A The spinal column is a straight up-and-
25 down affair with no bumps and indentations

1 in normality, and that's true all along
2 here. This is a relatively straight line
3 until we get to this point where there's a
4 widget sticking out, and, of course, it
5 continues straight on down.

6 This indentation, that sticking
7 out is a disk. It's in a **disk** space. We
8 know from counting down it's the 8th and 9th
9 space. This is 8, and this is 9, and the
10 disk has been squeezed so to speak, and it
11 can only go in one direction. That's
12 straight back, and it pushes on the spinal
13 column and its contents.

14 Q And is that the disk herniation that's
15 depicted in the MRI report?

16 A Correct.

17 Q Okay. Let's move on to the next film,
18 if we might. This is the MRI film -- the
19 films that we're looking at, Exhibit 1 which
20 we just looked at and now Exhibit 2, are the
21 films of Cherie Nola done on July 18th of
22 '94; is that correct?

23 A Correct.

24 Q All right. Now, we're going to be
25 looking -- let's see. Mr. Videographer, if

1 we can go over to -- to that frame. Okay.

2 Can you tell us now what we're looking at?

3 A Now, the -- this is a cross-sectional
4 view of the spine. In other words, if you
5 cut someone off in the middle and look down,
6 you'd see into the chest, You'd see a
7 vertebra here. This is the bony part. This
8 is the aorta that carries blood back and
9 forth to the heart, and this is the spinal
10 column that we're so interested in. That
11 white ring is space around the column and
12 the spinal cord in which spinal fluid lies
13 and the spinal cord itself.

14 Q I think we're having trouble picking up
15 the ring inside the -- the ring, the disk
16 inside the -- the spinal canal. Okay. I
17 think that's coming up -- up now.

18 A Now, the -- this ring, and we'll look
19 at another normal one in a minute, but this
20 white area around should be a totally closed
21 ring, like a signet ring, and it isn't.
22 It's broken at the top, and it's broken
23 there by the herniating disk.

24 Now, the disk comes from here and
25 pushes the hole backwards. If we go over

1 two sections, you can see that the ring is
2 complete all the way around. We have a nice
3 white halo without any break in it, any
4 dents in it.

5 Q And that's what a normal-appearing disk
6 would look like?

7 A Correct. That space behind the disk is
8 -- is adequate, is normal. There's fluid in
9 there. That's this business. If we go over
10 the two, you can see where the spinal column
11 space ends here. There's a disk actually
12 sticking out here, and the column picks up
13 again here, so --

14 Q And is that -- I'm sorry, Doctor.

15 A So that -- and that's the -- the
16 top-down view of the same disk herniation.

17 Q And that's a left-sided disk hernia-
18 tion?

19 A It is.

20 Q Okay. Thank you, Doctor. Let's move
21 on, if we could, to the 1995. We'll look at
22 Exhibit 3. September, 1995, which are we
23 looking at now, Doctor?

24 A We are now looking at this space here.

25 Q Okay, and what does that depict? First

1 of all, the -- what is unusual about that?

2 A We have a side view of the spine,
3 again. You see the vertebrae here all
4 stacked on one on another, and at this par-
5 ticular point, there's another indentation,
6 and one above it as well which is less well
7 seen, but what you have is a disk bulging
8 out into the spinal column, and denting the
9 space behind it and giving the black
10 appearance where there should be white.

11 Q And that is representative of an
12 abnormal disk herniation?

13 A It is.

14 Q Okay.

15 A In this location, where there's
16 precious little extra space, that would be
17 abnormal. It would be expected to produce
18 symptoms and would be quite notable.

19 Q Okay, and if we can go on to the last
20 MRI film, Exhibit 4, that too is the
21 September, 1995 MRI film.

22 A Correct.

23 Q And which view are we going to look at
24 first, Doctor?

25 A Let's start with a normal here. We

1 have this nice white ring all the way
2 around. Inside is the spinal cord. If we
3 can go up here, we see the ring broken at
4 the top. There's disk busting that space so
5 the ring ends here and ends here, and the
6 disk herniation is in this direction coming
7 down.

8 Q Now, which level is that disk
9 herniation at now in September of '95?

10 A That's the higher of the two. That's
11 the 7/8 disk herniation. If we go down to
12 this picture, we see the disk herniation
13 wiping out the space over here and a little
14 bit down lower but at the same level, we see
15 the ring ending here and here. This disk
16 has come down from the vertebrae blunting
17 that space, pushing on it, and herniating --
18 basically herniating into the spinal column.

19 Q So, by 1995, based on these views, you
20 now see two disk herniations in her
21 mid-back?

22 A Correct.

23 Q Okay. Thank you, Doctor.

24 MR. PARIS: Let's go back off the
25 record.

1 (At this time a short recess was
2 had.)

3 BY MR. PARIS:

4 Q Doctor, do we also have a model which
5 would assist the jury in understanding the
6 physiology that we've just talked about, the
7 vertebrae and the disks and the herniations
8 in Cherie Nola?

9 A Yes.

10 Q Would you use that model to -- to
11 explain again the nature of her herniations?

12 A Sure. This is a bony column with two
13 vertebrae in it, one, two. Between them is
14 the rubbery disk material, and if we look at
15 this end-on, we're now looking at it the
16 same way as we did at the MR scan. On top
17 of this vertebrae is a disk, and the disk is
18 moving back and pushing like so.

19 Q What's that pushing up against, the tip
20 of your pen?

21 A It is now pushing on the spinal cord.
22 That hasn't happened in this case, I don't
23 think; it's just pushed on the space, but
24 the ring, the clear space around the spinal
25 cord that we saw in the scans would be

1 indented by disk material, and if it went
2 any further, it would then push on the
3 spinal cord and cause great symptoms and
4 great trouble. So, you can see how small
5 the spinal column is. Looking at it on the
6 end, it's no bigger than the size of a
7 smaller finger. It's a tight space.
8 Anything impinging on it produces trouble.

9 Q All right. Thank you very much,
10 Doctor. Now, did you continue to follow
11 Cherie Nola after the initial visit of July
12 19, '94?

13 A I did.

14 Q And can you basically tell us how she
15 progressed and what your recommendations
16 were to her?

17 A The condition waxed and waned, came to
18 be concentrated exclusively in the mid chest
19 region that we've been talking about.

20 Q When you say the mid chest, do you mean
21 her chest hurt?

22 A No. The spine hurt, but at the chest
23 level as opposed to, say, neck or lower
24 back.

25 Q Oh, so --

1 A She doesn't have chest pain. She has
2 back pain, but it's at -- as high as the
3 chest goes.

4 She'd have good periods, and I'd
5 encourage her to be more active, try to
6 return to sports in a graded, slow fashion.
7 She might attempt that, but she never quite
8 made it back to any -- any sports, even
9 starting out.

10 Plus, she'd have pain off and on,
11 and that was a reminder not to do very much,
12 and then in September she had a bout of
13 rather intense spine pain. Hence, the
14 second MR scan. So, the condition became
15 and has become chronic. It's worsened since
16 I saw her first a year and three months ago,
17 and I would say overall her condition has
18 worsened.

19 Q Doctor, did you arrive at a final
20 diagnosis as it pertains to Cherie Nola?

21 A I did.

22 Q And what's your final diagnosis at this
23 point in time?

24 A That she suffers a thoracic disk
25 herniation, and I believe one for certain,

1 possibly two, and that this condition is
2 going to be with her for some time and bears
3 close watching and will restrict her activi-
4 ties in a substantial way.

5 Q Okay. As it relates to the final diag-
6 nosis, let's talk about number one. She has
7 -- does she have, Doctor, in your opinion, a
8 disk herniation at the T-8/T-9 level?

9 A She does.

10 Q And, Doctor, in your opinion to a
11 reasonable degree of medical probability,
12 does she also have a central disk herniation
13 at the T-7/T-8 level?

14 A She does.

15 Q Okay. Doctor, do you have an opinion
16 to a reasonable degree of medical probabi-
17 lity as to whether Cherie's car accident of
18 May of '94 was the cause of those two disk
19 herniations?

20 MR. CORRIGAM: Objection.

21 THE WITNESS: I do.

22 BY MR. PARIS:

23 Q What is your opinion?

24 A That the car accident caused those disk
25 herniations.

1 Q Okay. Have you had an opportunity to
2 look at an X-ray of Cherie's mid-back taken
3 on May 27th, 1994 at Brentwood Hospital?

4 A I have.

5 Q And the X-ray report?

6 A Also.

7 Q Okay. That X-ray report indicates very
8 slight wedging at T-8/9, minimal thoracic
9 spondylo -- spondyloarthrosis. What does
10 that mean, Doctor?

11 A That the -- those two vertebrae are not
12 perfectly squared off. One is slightly
13 lower on one end than the other, hence that
14 term "wedging."

15 Spondylosis refers to calcifica-
16 tion in or around the disks at that point.

17 Q Doctor, in your opinion to a reasonable
18 degree of probability, does that condition
19 have any relationship at all to her disk
20 herniations, based on probabilities?

21 MR. CORRIGAN: Objection.

22 THE WITNESS: It has some
23 relationship in that there is the location
24 of the disk herniations, but that wedging or
25 the changes there didn't cause it. The

1 accident did. There are changes there;
2 there's no question about that, and it -- so
3 the herniations occurred there rather than 9
4 or 10 or 7 or 8 or 6 or 5, but I think
5 that's unrelated to the fact that she is the
6 way she is now.

7 Q Okay. Doctor, do you have an opinion
8 to a reasonable degree of medical certainty
9 and probability as to whether Cherie's going
10 to have some pain and disability in her
11 mid-back from those disk herniations on a
12 permanent basis?

13 MR. CORRIGAN: Objection.

14 THE WITNESS: I have an opinion.

15 BY MR. PARIS:

16 Q What is your opinion?

17 A That she will suffer with this back
18 pain on an enduring and permanent basis for
19 a long time until something is done about it
20 or they resolve.

21 Q Now, Doctor, in your opinion to a
22 reasonable degree of medical certainty, is
23 therapy going to make that resolve, physical
24 therapy going to make those two disk
25 herniations resolve?

1 A No.

2 Q Okay. Are those two disk herniations,
3 as they exist in the form that they are in
4 today, going to remain painful for her, in
5 your opinion?

6 MR. CORRIGAN: Objection.

7 BY MR. PARIS:

8 Q To a reasonable degree of medical
9 certainty.

10 A They are.

11 Q All right. What options are open to
12 Cherie Nola as a means to abate the pain
13 caused by those disk herniations, in your
14 opinion to a reasonable degree of medical
15 probability?

16 A The options are these: she can remain
17 utterly inactive and not bend, move, twist,
18 and she'll have less pain. In other words,
19 she won't use her back. That's unrealistic,
20 but that's a choice some people opt to take.

21 The second choice is to treat the
22 pain with medication and just live with it.
23 And the third option is to undergo a
24 thoracic disk removal. That's a big
25 undertaking. It's substantial surgery, but

1 it's an option, nonetheless.

2 Q Is that an option to Cherie Nola?

3 A It is.

4 Q Okay. Is that an option that you
5 recommend for her at this time, though?

6 A I would not recommend it at this time
7 because the situation is tolerable, but I
8 wouldn't say that she should live with this
9 for ten years and not look at surgery
10 harder, but right now, I don't think she's
11 bad enough to consider all that a thoracic
12 disk removal entails.

13 Q Cherie has testified and -- and will
14 testify in this case, Doctor, that -- that
15 activities such as vacuuming and lifting and
16 gardening and -- and bending to any
17 appreciable extent are painful to her. Can
18 you explain why that is, Doctor? First of
19 all, is that consistent with the type of
20 injury she has?

21 A Yeah, it's -- it's consistent, actually
22 expected, because every time she moves,
23 those disks move a bit, push on the
24 ligaments, stretch the ligaments, and just
25 -- just the act of breathing, coughing,

.....

1 sneezing, rolling over in bed aggravate this
2 condition. So, just being alive is an
3 aggravating factor, but additional stress
4 comes from weight-bearing which is lifting
5 things, household work of all descriptions,
6 pushing a vacuum. So, those are expected
7 symptoms from this kind of problem.

8 Q Okay. She had only the one herniation
9 that showed up right after this accident,
10 the herniation at T-8/T-9. Can you explain
11 to the jury why, Doctor, it wasn't until the
12 following September of '95 that the second
13 herniation at T-7/T-8 appeared on the MRI?

14 A The 7/8 disk was damaged or the fibers
15 were loosened. The annulus may have been
16 damaged in the accident, but the disk was
17 retained and didn't move, but over time, the
18 ligaments and the material that hold the
19 disk in place gave way, and it started to
20 move and bulge.

21 So, I think the initial injury
22 occurred in the accident. The disk remained
23 in place for a matter of months when the
24 first MR scan was taken, but as the material
25 that would hold it in place gave way from

1 the original injury, it started to herniate,
2 so we didn't see it until later.

3 Q And is that uncommon, Doctor, for one
4 trauma to cause multiple -- for there to be
5 a -- a domino effect, if you will, in these
6 type of cases --

7 MR. CORRIGAN: Objection.

8 BY MR. PARIS:

9 Q -- at various disk levels?

10 MR. CORRIGAN: Objection.

11 THE WITNESS: That's not uncommon.
12 It's not common, but it's not uncommon
13 for there to be multiple disk damage and
14 herniations to show up immediately, and
15 later or several of them later, creating a
16 multiple disk problem from the same injury.

17 BY MR. PARIS:

18 Q Are you familiar biomechanically with
19 -- did you see the photographs of the cars
20 and -- before this deposition, Doctor?

21 A I did.

22 Q And is that consistent with what your
23 patient told you about how the accident
24 happened?

25 A It is.

1 MR. CORRIGAN: Objection.

2 BY MR. PARIS:

3 Q Doctor, are you familiar with -- with
4 biomechanics to the extent that you apply
5 that to your everyday practice?

6 A Yeah. From the common sensical medical
7 standpoint, I -- I understand those things.
8 I'm not so well grounded in the physics of
9 it.

10 Q Okay, but from the -- from the medical
11 aspects of it, is what happened to Cherie
12 Nola as a result of having that broadsided
13 rear-end collision and being spun
14 counterclockwise, in your opinion to a
15 reasonable degree of medical probability, is
16 that consistent with the type of injury she
17 sustained to her mid-back disk herniations?

18 MR. CORRIGAN: Objection. Move
19 to strike.

20 BY MR. PARIS:

21 Q Do you have an opinion?

22 A I do have an opinion.

23 Q What's your opinion, Doctor?

24 a Yeah. That's -- that's the kind of
25 injury with a lot of twisting, a lot of

1 impact, a lot of force transmitted to the
2 thoracic spine that -- that could produce
3 this picture.

4 Q And did it, then, in this particular
5 incident?

6 A It did.

7 Q Doctor, do you have an opinion to a
8 reasonable degree of medical certainty as to
9 whether Cherie's going to need future
10 medical care and treatment, monitoring, MRI
11 films and neurological consultations?

12 A I believe she will.

13 Q Can you be more specific and tell us
14 with what regularity?

15 A Yes. The condition is going to wax and
16 wane and -- and needs to be monitored on a
17 visit basis. She needs to see a physician
18 every three months, interview about level of
19 pain and activities, a brief spine and
20 neurologic examination to make sure there's
21 no neurologic damage, and at a six-month
22 interval, a reassessment of the MR picture
23 of the disk herniations; in other words to
24 see if they've gotten any worse or things
25 have changed. That -- that needs to be done

1 regardless of what happens to her from an
2 everyday standpoint because these things can
3 change without the patient being aware of
4 it.

5 So, that kind of monitoring of
6 physician visit with examination and MR
7 scan, I see a necessity for the next two
8 years and probably beyond.

9 Q Okay. Thank you, Doctor.

10 MR. PAXIS: I have nothing
11 further.

12 CROSS-EXAMINATION OF DONALD MANN, M.D.

13 BY MR. CORRIGAN:

14 Q Doctor, I'm Patrick Corrigan here on
15 behalf of Brendon Hernon in this case.

16 I see you have a file of records
17 in -- in front of you. May I review those,
18 please?

19 A Sure.

20 MR. CORRIGAN: Off the record.

21 (At this time a short recess was
22 had.)

23 BY MR. CORRIGAN:

24 Q Dr. Mann, you're not a neurosurgeon;
25 are you?

1 A No, I'm not.

2 Q And you don't perform surgery on your
3 patients; isn't that correct?

4 A Correct.

5 Q And as a neurologist, you're limited to
6 basically diagnosis and treatment outside of
7 the realm of surgery.

8 A Right, and referral where appropriate.

9 Q In this case with Cheryl Nola, you had
10 the opportunity to take a history of your
11 patient; isn't that true?

12 A I did.

13 Q And do you rely on the accuracy of the
14 history in making your diagnoses?

15 A I do.

16 Q And in taking the history, did you ask
17 extensive questions about Cheryl Nola's
18 actual accident and the mechanics of the
19 accident itself?

20 A Questions, yes; extensive, no.

21 Q Do you recall recording her responses
22 in your history-taking?

23 A Let me look that up. The -- what I
24 recorded was that she was hit from behind.
25 The car spun around. She had a seat belt

1 on. The -- she did not anticipate the
2 accident. She didn't have no knowledge it
3 was coming. It was a complete surprise to
4 her, and she hit her head on the side
5 window.

6 Q Not on the windshield, Doctor?

7 A I believe it -- I believe -- I recorded
8 the side window, but it may have been the
9 windshield.

10 Q Okay. Well, Doctor, did you ever have
11 an EMG performed on this patient?

12 A I did not.

13 Q What is an EMG?

14 A Electromyogram is a testing technique
15 to evaluate nerve loss, nerve impairment,
16 nerve compression.

17 Q And would that identify nerve root
18 impingement?

19 A You can, yes.

20 Q What other tests would you use to
21 identify nerve root impingement?

22 A Well, the major test is examination to
23 see if there's any loss of strength or
24 sensation. The **EMG** test that you mentioned
25 is an ancillary test, and there are others,

1 of course; X-ray evaluation for nerve root
2 compression.

3 Q And were those done, any X-rays for
4 nerve root compression?

5 A They were.

6 Q And did you review X-rays in that
7 regard?

8 A I did.

9 Q Okay. Doctor, in -- in this case, you
10 indicated that the original MRI showed a
11 small herniated disk at T-8 and 9 to the
12 left; that's in the 1994 MRI, correct?

13 A Yes.

14 Q Well, how does that -- in light of the
15 X-rays taken relatively soon after the
16 accident, isn't it possible that there was a
17 herniated disk prior to the date of the
18 accident?

19 MR. PARIS: Objection.

20 THE WITNESS: Possible, but
21 unlikely.

22 BY MR. CORRIGAN:

23 a In -- in fact, don't the X-rays taken
24 on May 27th of 1994 indicate slight wedging
25 at the T-8 and T-9 of the thoracic verte-

1 brae?

2 A It does, yes.

3 Q And we have -- we've already talked a
4 little bit about the wedging, or you and Mr.
5 Paris did, and when I spoke with Dr. Hugus
6 about this wedging and the fact that the
7 X-ray report said it was considered remote,
8 he indicated that "remote" meant an old
9 injury.

10 MR. PARIS: Objection. - *W. H. H.*

BY MR. CORRIGAN:

12 Q Or perhaps an old trauma. - *W. H. H.*

13 MR. PARIS: Objection.

14 BY MR. CORRIGAN:

15 Q What do you identify the word,
16 "remote," as being in an interpretation of
17 an X-ray?

18 A That means the radiologist is describ-
19 ing a change in the anatomy of the verte-
20 brae, but there's nothing in the X-ray to
21 say that it happened recently. So, he is
22 saying there: this vertebrae isn't as tall
23 as it should be. It happened some time long
24 ago. "Long ago" could be years, decades,
25 but something far away from the present

1 time.

2 Q In fact, hadn't you mentioned that,
3 when you reviewed those X-rays, you noticed
4 calcification and spondylosis?

5 A Correct.

6 Q So, that indicates there was some
7 previous trauma. Is that what -- is that
8 what we can conclude from those X-rays?

9 A Well, I don't think you can say it's
10 trauma because people get these kinds of
11 changes who have never been injured or
12 injury doesn't even come into the picture.
13 It can just be a wear and tear thing, and I
14 suspect that's the case here because she's
15 never had any kind of injury before that
16 would give her this.

17 So, for some reason, those
18 vertebrae changed their shape. There was a
19 little calcification built up there. It
20 could have happened at any level. It could
21 have been at the neck. It could have been
22 in the lumbar region, but for reasons that
23 are unknown, it settled on the T-8/9 level.

24 Q And, Doctor, when that MRI was taken,
25 when the first MRI of '94 was taken, isn't

1 it the case that the interpreter found that
2 there was no neurologic compromise?

3 A Correct.

4 Q And, Doctor, isn't it true that many
5 people have herniated disks without even
6 knowing it?

7 MR. PARIS: Objection.

8 THE WITNESS: A significant
9 number do have herniated disks. We're again
10 talking about outside the thoracic area, and
11 they're not bothered by it and don't need to
12 do anything about it. That is true.

13 BY MR. CORRIGAN:

14 Q So, a herniated disk can exist without
15 symptoms, true?

16 A It can be in a low symptom state. I
17 think along the way, and recognize now we're
18 talking about processes that go on for
19 years. Somebody had some kind of pain, if
20 they had a herniated disk, but it may have
21 resolved. I don't think I'd say that you
22 have a herniated disk, and it's been utterly
23 and absolutely painless, but most herniated
24 disks go on without pain or need of
25 elaborate treatment outside the thoracic

1 area.

2 Q Doctor, in this case, would you agree
3 with me that a finding that there's only
4 mild posterior protrusion of disk material
5 at the T-8/T-9 level with no cord compromise
6 and no irregularity of the ligamentous
7 structure is consistent with the chronic
8 developmental type process?

9 A No, I wouldn't say that it's a
10 developmental process. I would say it's an
11 acquired one. I think that she started out
12 with not that picture, that something
13 happened to her, and that developed later.

14 Q But, in fact, isn't it consistent with
15 a chronic developmental process where
16 there's only a mild protrusion and no cord
17 compression? Wouldn't that be consistent
18 with a developmental, nontrauma-related disk
19 herniation?

20 A No. I -- I don't -- by developmental,
21 we mean that somebody who has a short leg
22 develops arthritis of the hip there, and
23 this is explained by antecedent, preexist-
24 ing, even congenital problems, and I don't
25 see that being an explanation of her thor-

1 acic disks,
2 Q Even despite the X-ray of May 27th,
3 1994?
4 A Correct.
5 Q You -- you don't see that?
6 A No.
7 Q Excuse me. Doctor, you had occasion to
8 meet with the patient on many -- on
9 approximately six times; isn't that true?
10 A Yes.
11 Q And in your first meeting which was in
12 the summer of 1994, you took a history of
13 this patient.
14 A I did.
15 Q And you advised her to, from what I can
16 gather, to reduce some of her activities.
17 A Correct.
18 Q Now, you've testified that she -- she
19 is not able to take part in recreational
20 activities as a result of this now two
21 herniated disks; is that correct?
22 A Yes.
23 Q Do you know what kind of activities she
24 took part in before?
25 A Skiing, swimming and gardening.

1 Q And since the time of the accident, has
2 she done any type of activities that would
3 require physical exertion?

4 A Well, at my suggestion she has tried
5 some of these. I think she's only succeeded
6 in working around the house. I don't -- I
7 don't believe she's been able to pursue any
8 sports at all.

9 Q No sports at all. Well, Doctor, you've
10 already testified that she has a chronic
11 condition. Well, what is chronic? Will you
12 tell the jury what you define "chronic" as
13 meaning?

14 A Yes. A process that is longstanding,
15 that will go on for long periods of time.
16 In the spine we're talking about many months
17 and years. In different organ systems it
18 may have a different time frame. In the
19 brain, for instance, chronic may mean
20 decades or even a lifetime. In the skin it
21 may mean several weeks. So, the disease
22 process determines the time frame. For
23 chronicity for the spine, it's many months
24 and many years.

25 Q So, with respect to that particular

1 injury we're discussing today, it would be
2 many months or years that would --

3 MR. PARIS: I --

4 BY MR. CORRIGAN:

5 Q -- based on your definition that would
6 make it a chronic condition; isn't that
7 true?

8 A It is.

9 Q Doctor, in reviewing the notes that you
10 gave me at the beginning of my cross-
11 examination, I see that on October 13th,
12 1994, you had occasion to meet with Ms.
13 Nola, Mrs. Nola.

14 A Yes.

15 Q And do you recall indicating that she
16 -- in your notes, that she tried painting a
17 barn on her lot, and this worked out well?

18 A Correct.

19 Q And that -- that certainly requires
20 physical exertion; doesn't it?

21 A It does.

22 Q In addition, you say, "Overall she
23 feels better in her left scapular and mid
24 and lower thoracic pain."

25 A Correct.

1 Q Did you take any -- any other notes
2 reflecting the amount of pain she suffered
3 or the frequency of her pain?

4 A No. That's the only description of her
5 pain.

6 Q In fact, you say there's no tenderness
7 palpating the spine, but she points to a
8 region around the T-5 or 6 where the pain is
9 the greatest. Now, what is tenderness?
10 What does that mean?

11 A That touching or pushing or tapping on
12 portions of the spine would be reported by
13 the patient as something that's sore or
14 uncomfortable or might cause her to jump or
15 grimace.

16 Q Now, Doctor, you've testified that she
17 even had difficulty doing housework and
18 couldn't do her housework as a result of
19 this condition, correct?

20 A Yes.

21 a But in your December 15th, 1994 note,
22 you say that she has been able to do her
23 housework and is anticipating exercise.
24 That --

25 A Correct.

1 Q That conflicts with your testimony
2 today about her condition.

3 A Well, when I say she can't do
4 housework, I mean overall. I'm talking
5 about the big picture of months. If she can
6 push a sweeper for a day or two or even a
7 week or two, that doesn't mean she can do
8 housework, and she's actually doing what I
9 asked her to do, to try these things.

10 The measure of their success is
11 whether she can do it on a sustained basis.
12 I wouldn't consider someone a housekeeper
13 who did laundry once every couple of weeks
14 and cleaned the floors once a month. So,
15 these are attempts, unsuccessful, I think,
16 to do the chores of her daily life.

17 Q Now, despite that litany of what she
18 can and can't do, I see that you indicate in
19 December of 1994 she takes three to five
20 Motrin in three to five weeks. Now, Doctor,
21 that tells me she maybe takes one Motrin a
22 week in over a five-week period. Is that a
23 typical patient in chronic pain? Does that
24 reflect the typical patient in chronic pain,
25 Doctor?

1 A It can. The Motrin doses, that's a
2 reasonable dose of over-the-counter Motrin,
3 and I'm saying there that she used that dose
4 over a five-week stretch, probably quit it
5 because it didn't work. I wouldn't expect
6 Motrin to make a big difference to her. It
7 certainly won't change things drastically,
8 but it might give her some relief. So, she,
9 like many patients, tried this three or four
10 times over a five-week period. That, I
11 think, is an everyday patient-kind of
12 experience.

13 Q Well, Doctor, you're telling us she
14 tried it, you know, during this little three
15 to five-week period, but none of your notes
16 from any of your other entries, March 23,
17 '95 or February, '95, indicate whether or
18 not she used Motrin, and isn't it true
19 you've decided or you declared to the jury
20 here that, you know, Motrin or medication is
21 one way to deal with a herniated disk, with
22 the symptoms of herniated disk?

23 A To alleviate the symptoms, yes.

24 Q Now, Doctor, having decided that she
25 has a chronic condition, is that only based

1 on your most recent meeting with Cheryl Nola
2 in September of 1995?

3 A No.

4 Q In fact, in your -- your notes for
5 September of 1995, if we can refer to those,
6 doesn't it show -- don't your notes reflect
7 that this -- I think your words are
8 "flare-up." Do your notes reflect that she
9 had a flare-up of pain?

10 A I don't see that, but I think that's an
11 okay kind of term to use.

12 Q Doctor, in fact, you wrote an opinion
13 letter for David Paris on September 26th,
14 1995. Is that in your file?

15 A Yes.

16 Q And in the first sentence, you state,
17 "Mrs. Nola experienced a flare-up of
18 thoracic pain around Labor Day, and Dr.
19 Hugus obtained an MR scan," et cetera. So,
20 your words are "flare-up" with respect to
21 this pain.

22 A Yes.

23 Q And a flare-up would be different than
24 a chronic condition where somebody is in
25 constant pain; am I correct?

1 A Well, in this case, the flare-up is on
2 top of the chronic issue. She had pain all
3 along, and it got worse, That's what I mean
4 to say.

5 Q In fact, Doctor - -

6 MR. PARIS: Excuse me. Let the
7 doctor finish his answer, please.

8 THE WITNESS: That's my meaning,
9 that this is an acceleration of a chronic
10 process. It didn't flare from zero. It
11 flared from pain.

12 BY MR. CORRIGAN:

13 Q In fact, don't your notes from
14 September 21st indicate that Mrs. Nola was
15 on a boat ride at that time?

16 A Correct.

17 Q And - - and did you inquire into what
18 type of a boat ride it was, or how - - what
19 type of seas she was riding on?

20 A I did not, but I - - I may have
21 casually. I didn't write it down, but I
22 know from seeing her that she wasn't out
23 bouncing around on Lake Erie in a storm, and
24 that with the caution that she'd exercised
25 about all spinal movements, that this was

1 probably just a leisurely ride, more likely
2 to affect her as she stepped in and out of
3 the boat than anything else, but I can't
4 tell you that with any certainty.

5 Q Right. I mean, Doctor, you've conceded
6 you didn't ask her about it, and it's not
7 really reflected in your notes. So, the
8 jury doesn't know what type of a boat ride
9 this is; do they?

10 A No, they don't.

11 Q And, Doctor, it's quite possible that a
12 person can get a herniated disk from a boat
13 ride; isn't that true?

14 A I think not unless it crashes or
15 something else awful happens. Just riding
16 in a boat is not likely to herniate a disk.

17 Q Well, Doctor, don't people get
18 herniated disks from missing a golf swing?

19 A Well, there are circumstances where
20 disks herniate for no reason at all. You
21 can be sitting in a movie theater. You can
22 bend over to tie your shoes. Someone can
23 slap you on the back at a party. Disks will
24 herniate under any and all sets of circum-
25 stances, known and unknown. When that hap-

1 pens, you know it. I mean, it's a sudden,
2 new thing. There's a dramatic change in
3 symptoms. So, the spectrum of disk hernia-
4 tion can be anything from nothing or casual
5 to a rather dramatic set of circumstances.

6 Q And, Doctor, in -- in most cases, the
7 recommendation is -- is temporary rest in
8 bed and analgesics, local heat as a treat-
9 ment for disk herniation?

10 A Yeah, for a simple first, a clean disk
11 herniation where no other problems exist,
12 yeah, you take it easy. You use home
13 remedies like heat and wait it out.

14 Q And, Doctor, you don't have a crystal
15 ball or any ability to identify when Cheryl
16 Nola's symptoms will go away; do you?

17 A No, only my knowledge of the behavior
18 of disk herniations and, in particular,
19 thoracic ones.

20 Q Okay.

21 MR. CORRIGAN: Thank you very
22 much, Doctor.

23 REDIRECT EXAMINATION OF DONALD MANN, M.D.
24 BY MR. PARIS:

25 Q Doctor, with all the talk about boat

1 rides and -- and some wedging in her back
2 that may have existed before this accident,
3 have -- has anyone given you any records of
4 all the voluminous records that have been
5 subpoenaed on Cherie that were generated
6 before this accident at any time from the
7 time she was born up until the date of this
8 accident to suggest that she had a disk
9 herniation or a chronic mid-back pain before
10 this accident?

11 MR. CORRIGAN: Objection. Move
12 to strike.

13 BY MR. PARIS:

14 Q Was any record put before you to
15 suggest that?

16 A None whatever.

17 Q Okay. When you use the term "chronic,"
18 just so that there's no misunderstanding
19 here, did you mean to imply that her pain
20 and her condition was a process that was
21 going on chronically before this accident or
22 chronically since this accident?

23 A Oh, the latter. The accident gave her
24 a chronic condition. The chronicity of this
25 came from the accident and not any other

1 way.

2 Q Now, we -- I understand that there are
3 people, based on your exchange with Mr.
4 Corrigan a moment ago, that there are people
5 walking around in the world today who have
6 disk herniations in parts of their spine
7 other than the thoracic spine that will have
8 a low -- that can have a low degree of
9 symptoms or a high degree of symptoms in
10 their back; is that right?

11 A Yes, it is.

12 Q But you were very careful to limit that
13 to outside the thoracic spine. Why is that,
14 Doctor?

15 A Well, the disks that -- everyday disks
16 that herniate, neck and low back, are
17 resilient. They have enough space, gener-
18 ally speaking, to move around.

19 The thoracic disks are another
20 kettle of fish altogether. There's very
21 little space. The repair process is -- is
22 vastly slower. You can't rest your thoracic
23 spine the way you can rest and protect your
24 neck or low back. So, these patients have a
25 long road to hoe, and they go on for long

1 periods of time. That's just the way our
2 bodies are put together. There's almost no
3 mobility in the thoracic spine. There's no
4 way to protect it, and, so, these people
5 face a long picture.

6 Q Doctor, I understand you don't have a
7 crystal ball, and I wouldn't be presumptuous
8 enough to ask you to a hundred degree of
9 certainty what the future holds for anyone,
10 but based on your knowledge, your experi-
11 ence, your training, your qualifications as
12 an expert in this field, do you have an
13 opinion to a reasonable degree of medical
14 certainty as to whether Cherie's going to
15 have pain and disability in her mid-back
16 from these herniations on a permanent basis?

17 A I do.

18 Q And what's your opinion?

19 A That she will have these -- this pain
20 on a permanent basis.

21 Q And, although she has undertaken, pur-
22 suant to your instructions, certain activi-
23 ties and tried to get back into certain of
24 her daily routines, whether it be painting
25 the barn or trying to run a vacuum cleaner,

1 is she -- are these disk herniations going
2 to interfere with her ability to perform her
3 normal daily functions in the future?

4 A They are.

5 MR. PARIS: Thank you, Doctor. I
6 have nothing further.

7 MR. CORRIGAN: Nothing further,
8 Doctor. Thank you very much.

9 MR. PARIS: Off the record.

10 (Off the videotape.)

11 MR. PARIS: Doctor, will you
12 waive the reading of the transcript?

13 THE WITNESS: I do.

14 MR. PARIS: Will you waive the
15 viewing of the videotape?

16 THE WITNESS: I do.

17 MR. PARIS: Thank you.

18

19

20 - - - o0o - - -

21

22

23

24

25

1 CERTIFICATE

2 The State of Ohio,)

3 County of Cuyahoga.)

4 I, Luanne Protz, a Notary Public within
5 and for the State of Ohio, duly commissioned
6 and qualified, do hereby certify that the
7 above-named witness, DONALD MANN, M.D., was
8 by me first duly sworn to testify to the
9 truth, the whole truth and nothing but the
10 truth in the case aforesaid; that the
11 testimony then given by the above-referenced
12 witness was by me reduced to stenotypy in
13 the presence of said witness; afterwards
14 transcribed; and that the foregoing is a
15 true and correct transcription of the
16 testimony so given by the above-referenced
17 witness.

18 I do further certify that this
19 deposition was taken at the time and place
20 in the foregoing caption specified and was
21 completed without adjournment.

22 I do further certify that I am not a
23 relative, counsel or attorney for either
24 party, or otherwise interested in the
25 event of this action.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN WITNESS WHEREOF, I have hereunto
set my hand and seal of office at
Cleveland, Ohio this 12th ----- day of
October ----- A.D., 1995.

Luanne Protz

Luanne Protz-Notary Public

Within and for the State of Ohio

My commission expires 4/5/98.