

1 THE STATE OF OHIO COUNTY OF ASHTABULA
2 IN THE COURT OF COMMON PLEAS JUDGE VETTEL

3
4 JAMES LOVETT, Administrator
5 of the Estate of DOROTHY M.
6 LOVETT,

7 Plaintiff,

8 -vs-

9 MEMORIAL HOSPITAL OF GENEVA,
10 et al,

11 Defendants.

12 Deposition of JOHN B. McCABE, ? - held at,
13 University Hospital, 750 East Adam Street, Syracuse,
14 New York, on February 23, 1983, before Deborah S. Gosline,
15 Court Reporter and Notary Public in and for the State of
16 New York.

17 APPEARANCES:

18 For the Plaintiff:

19 CHARLES KAMPINSKI CO., L.P.A.
20 Attorneys at Law
21 1530 Standard Building
22 Cleveland, Ohio 44113
23 BY: CHRISTOPHER M. MELLINO, ESQ.

MACHINE
SHORTHAND
REPORTING Service

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-3995

Doc 302

1 APPEARANCES (continued):

2 For the Defendant Memorial Hospital of Geneva:

3 REMINGER & REMINGER CO., L.P.A.
4 Attorneys at Law
The 113 Building
Cleveland, Ohio 44114-1273
5 BY: GEORGE S. COAKLEV, ESQ.

6 For the Defendant Dr. Desai and
7 the Estate of Dr. Conant:

8 JACOBSON, MAYNARD, TUSCHMAN & KALUR CO.,
L.P.A.
9 Attorneys at Law
100 Erieview Plaza
11 Fourteenth Floor
12 Cleveland, Ohio 44114
13 BY: ANTHONY P. DAPORE, ESQ.
14
15
16
17
18
19
20
21
22
23

I N D E XWitness :Page

Dr. John B. McCabe

By Mr. Mellino

4

* * *

E H I B I TNumberDescriptionPage

1

Curriculum Vitae

* * *

1 J O H N B . M c C A B E , M . D . , having been
2 called as a witness, being duly sworn, testified as follows:

3 EXAMINATION BY MR. MELLINO:

4 E Would you state your full name for the record.?

5 A John Bernard McCabe.

6 Q And what's your address?

7 A Home address is 4447 Swissvale, S-W-I-S-S-V-A-L-E
8 Drive, Manlius, M-A-N-L-I-U-S, New York.

9 P Dr. McCabe, as you know, my name is Chris Mellino.
10 I represent the Plaintiff in this action. I'm going to be
11 asking you a number of questions this afternoon.

12 And I would only ask two things of you, one is you
13 answer verbally so the Court Reporter can take down all your
14 answers, and the other thing is if you don't understand any
15 of my questions, please ask me to rephrase them so we under-
16 stand each other.

17 A Okay.

18 MR. MELLINO: Why don't you mark that
19 as Exhibit 1.

20 (EXHIBIT NUMBER 1 MARKED FOR IDENTIFICATION
21 THIS DATE.)

22 Q Dr. McCabe, I'm handing you what's been marked as
23 Exhibit 1 which is a copy of your C.V. which I have been

MACHINE
SHORTHAND
REPORTING Service

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-3995

1 provided by Mr. Dapore.

2 Can you tell me if that C.V. is up to date,
3 accurate?

4 A This is dated July, '87. So there are a couple
5 of additions in terms of some activities or publications
6 that would need to be updated. But essentially all the
7 information is correct, relatively up to date.

8 MR. DAPORE: Do you have a current one?

9 TEE WITNESS: No, that's the most current
10 one that I have printed. I do redo then once
11 a year. So this thing --

12 Q All right. You said there were publications that
13 are not on here?

14 A Yes, if you, actually there are some publications
15 which were pending at the time this was written that have
16 been printed since then. For instance, this has been printed
17 (indicating) in the Journal of Emergency Medicine. This is
18 now scheduled for May of this year (indicating). This is
19 scheduled for April of this year. And then there would be a
20 couple different articles that have been submitted. but not
21 yet published.

22 I can provide you with a list of those, if necessary.

23 Q Would you do that, please?

1 A Sure.

2 Q What is your current position?

3 A Current position is Associate Director of critical
4 care and emergency medicine here in the University Hospital.
5 And then I'm an Associate Professor of critical care and
6 emergency medicine at the medical school here.

7 Q What do you do in those positions?

8 A In those positions I have clinical responsibility,
9 teaching responsibility, and administrative responsibility.
10 I currently work about 20 hours a week here in the emergency
11 department as attending physician at University Hospital.

12 I spend probably an equal number of hours doing
13 administrative duties to oversee the operation of the emergency
14 department here at University Hospital.

15 And then an equal number of hours in a mixture of
16 research and teaching activities for medical students,
17 residents here at the medical school complex.

18 P Have you testified before on behalf of, well, as
19 an expert in a medical malpractice case?

20 A I have not testified! in court. I have given
21 deposition before, medical malpractice.

22 Q In how many other cases?

23 A One other to deposition.

1 Q What did that case involve?

2 A It is an emergency department patient, multiple
3 trauma with cervical spine injury.

4 Q Did you testify on behalf of the Plaintiff or the
5 Defendant?

6 A That's a Plaintiff.

7 Q Are there other cases that you have reviewed?

8 A Other cases that I have reviewed, I will guess
9 probably in the neighborhood of eight, ten, twelve maybe
10 at the most. That would all be over the last four years.
11 Some were in that time frame.

12 Q Are any of those cases still pending?

13 R It is my understanding that one or two of them
14 are still pending. But I've not been asked to do deposition
15 in any of them. So I have not had recent contact with any
16 of those cases.

17 Q Can you give me a breakdown on which side you have
18 reviewed the cases for?

19 A I will guess it is pretty close to 50/50, maybe a
20 slight edge towards the Defendant's side. But pretty much
21 50/50.

22 Q How did you become involved in this case?

23 A In this case I got a call from Dr. Ken Winer

1 (phonetic) **who** is a physician that I know in emergency
2 medicine in Ohio through my time when I lived in Ohio. And
3 he simply asked me if I would be willing to have him give
4 my name to this firm (indicating) and to have me review a
5 case. I said I would be willing to do that.

6 And then I'm not sure who the ,initialphone contact
7 came from, --

8 THE WITNESS: Whether it was yourself --

9 MR. DAPORE: It was ne.

10 A. He gave me brief details of the case and asked **if**
11 **I** would be willing to review the material.

12 Q What hospital is Dr. Winer associated with?

13 A. I'm not sure of the exact hospital. He's associated
14 with multiple hospitals in the Cleveland area, is an emergency
15 physician, but I don't know his exact hospital detail. **I** know
16 him more through my dealings with the Ohio Chapter of The
17 American College of Emergency Physicians.

18 Q Have you reviewed any cases on behalf of Jacobson,
19 Maynard, Tuschman & Kalur --

20 A. No.

21 Q -- prior to this? Have you ever been a Defendant
22 in a lawsuit?

23 MR. COAK EY: Objection,

1 MR.. DAPORE: Objection. You can answer.

2 A No.

3 Q What were you asked to do in this case?

4 A I was asked to review the records that were sent
5 to me and to gather an opinion regarding this emergency
6 department care that was rendered in this case. And then I
7 discussed that with Mr. Dapore.

8 Q Did you know Dr. Conant?

9 A No, I did not.

10 Q How about Dr. Jeniac (phonetic)?

11 A I know Dr. Jeniac through my dealings with the
12 Ohio Chapter of The American College of Emergency Physicians.

13 Q When did you live in Ohio?

14 A I lived in Ohio from July of 1979 until roughly
15 July 1st, 1987.

16 Q Now, in your report you indicate you reviewed the
17 emergency department records from Geneva Memorial Hospital
18 and the autopsy report, says the opinions of Dr. Bitterman
19 (phonetic) and Dr. Jeniac, I assume that means their reports?

20 A I had a copy of letters from both those individuals.

21 Q And also the deposition of Mr. Lovett?

22 A Correct.

23 Q Did you review anything else?

1 A I'm not sure if you said it. There was a record
2 from the Richmond Heights Clinic, three emergency department
3 visit records, the two fetters, Dr. Bitterman and Jeniac,
4 the autopsy report, and Mr. Lovett's deposition. I think
5 that was all that was sent initially to me.

6 Q What have you reviewed since then?

7 A I've also reviewed a deposition from a nurse in
8 the emergency department whose name I can't --

9 MR. DAPORE: Robinson.

10 A Robinson.

11 Q Were you told anything, any facts of this case by
12 anybody?

13 MR. DAPORE: Objection.

14 P Other than what's contained in the documents *you*
15 reviewed?

16 A My information pertaining to the case has come from
17 my discussions with Mr. Dapore and from the materials that
18 have been sent that I reviewed.

19 And I should also say from probably a one-minute
20 phone conversation with Dr. Winer when I initially had the
21 contact.

22 Q Well, did you rely on anything for the purpose of
23 rendering your opinion that was told to you by Mr. Dapore

1 MACHINE
SHORTHAN
REPORTING

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-31

1 that was not contained in any of the records or depositions?

2 A No.

3 Q Did you ever review any statements made by Dr.
4 Conant?

5 A No.

6 E Were you ever told anything about what he said
7 happened in this case?

8 A Not to the best of my knowledge, no.

9 Q Did you review any medical literature to either
10 prepare your report or to testify today?

11 A Not specifically, no.

12 Q What do you mean by not specifically?

13 A Well, let me rephrase that. No, I did not take any
14 books or journals out in order to prepare for today's
15 deposition.

16 Q How about to prepare your report?

17 A At the time that I prepared the report, I think I
18 probably did do some background reading in some of the current
19 emergency medicine textbooks.

20 Q What textbooks would you consider authoritative
21 on this subject?

22 A Well, it is hard to give you one textbook that's
23 authoritative. Emergency medicine practice is multiple

1 journals. And current practice, there are probably half a
2 dozen textbooks that specifically relate to emergency medicine

3 Q Well, what are they?

4 A You would like nares of books?

5 Q Yes.

6 A That are all currently out on the topic of emergency
7 medicine?

8 Q That you would consider authoritative.

9 A Well --

10 P Doesn't have to be an exhaustive list.

11 A Current books that are used in the emergency
12 department would be Rosen's Principals and Practices of
13 Emergency Medicine, Callahan's Current Practice in Emergency
14 Medicine, Trunkey's Current Practice of Emergency Medicine,
15 Swartz Principals and Practices of Emergency Medicine.

16 None as a single reference that is the authoritative
17 book.

18 Q Maybe you answered this already, you said you spent
19 20 hours of clinical practice in the emergency room here?

20 A Uhm-hmm.

21 Q Do you actually treat patients or do you supervise?

22 A I do both.

23 Q What is the standard of care for signing out a

1 patient against medical advice?

2 A. The standard of care from the emergency department
3 here specifically you are asking?

4 Q. Yes.

5 A. I think in order to sign out a patient against
6 medical advice, it **is** required that the patient understand
7 the need and the request, suggestion **for** admission, that they
8 be aware of their diagnosis, and be aware of the potential
9 complications **of** leaving against medical advice. And they
10 need to understand and accept full responsibility of the
11 consequences of their action.

12 Q. How often have you signed out a patient against
13 medical advice?

14 A. Well, that's difficult to say. I would say it is
15 an uncommon occurrence. I mean it **is** not a rare event.

16 But, you know, probably in my clinical practice
17 I would guess once **or** twice a month that **type** of a situation
18 comes up.

19 Q. When you **say** that type of situation, would that be
20 a situation where somebody actually leaves the hospital or
21 where they don't want to be admitted?

22 A. I would say the setting where a patient doesn't
23 want to be admitted is certainly more frequent. When I say

1 that type of event, one which involves a discussion and/or
2 a signing out of somebody against medical advice.

3 Q Where they actually sign out and leave the hospital?

4 A Would be a little less frequent.

5 Q Less frequent than once **or** twice a month?

6 A Sure. Again, I can't give you a specific number,
7 That's a best guess.

8 Q What's atropine?

9 A In the context of this case **or** in general?

10 Q In general.

11 A **It** is an agent that's used for many purposes. It
12 increases heart rate. So we use it as a cardiac medication
13 in the setting of cardiac arrest.

14 It is also used to dry, for instance, mucosal
15 membranes and decrease secretions. So it is used as a pre-
16 anesthetic agent.

17 **Also** has some effect **on** muscle relaxation. **So** it
18 **is** mostly used to settle the **GI** tract, for instance.

19 Q Do you know why it was given in this case in the
20 October 5th visit to the emergency room?

21 A I, I can't state for sure not having been the
22 treating physician. **It** is not an uncommon agent to be given
23 in GI pain for the reasons I just stated.

1 Q What is Demerol?

2 A Demerol is a narcotic analgesic agent given for
3 relief of pain.

4 Q Atropine is not a narcotic?

5 A No.

6 Q What effect does Demerol have on a person's ability
7 to sign out against medical advice?

8 MR. COAKLEY: Objection.

9 MR. DAPORE: Objection. You can go ahead
10 and answer, if you can state it in general.

11 A In general, again, the main effect of Demerol or
12 any narcotic agent is to relieve pain. It certainly can have
13 a sedating effect on patients as well.

14 Q Do you have an opinion in this case to a reasonable
15 degree of medical probability whether the Demerol given Mrs.
16 Lovett made her incompetent to sign the medical release form?

17 A Yes, I do.

18 Q What is your opinion?

19 A From review of the materials that have been given
20 to me, my opinion is that it did not.

21 Q What is your opinion based on?

22 A My opinion is based on the review of depositions
23 and other materials that were given to me which would seem

1 to indicate from other's testimony that the patient appeared
2 competent at the time that she signed the AMA form and actually
3 left the hospital against medical advice.

4 Q What facts are there in the record that leads you
5 to believe she appeared competent?

6 A Specifically the testimony of Nurse Robinson that
7 the patient appeared competent at the time the form **was** signed,
8 appeared aware of the consequences of leaving, and did not
9 seem to be impaired to the point that she was unable **to** sign
10 the release form.

11 Q Did **you** read her testimony where she stated that
12 it was inappropriate or against hospital procedure to have
13 patients sign an AMA form after **giving** them Dernerol?

14 MR. DAPORE: Objection.

15 MR. COAKLEY: Objection.

16 MR. DAPORE: If you want to give a
17 specific reference to the deposition page
18 and the question and answer, you can repose
19 the question.

20 FIR. MELLINO: Well, I asked him if he was
21 aware of that testimony. If that's not the
22 testimony, then if he's not aware --

23 MR. COAXLEY: You arc? asking if he

1 remembers that testimony from the deposition
2 of Nurse Robinson?

3 MR. MELLINO: Yes.

4 A. Could you rephrase the question?

5 Q. Sure. Do you remember Nurse Robinson testifying
6 that it was against hospital procedure to have a patient
7 sign an AMA form after being given Demerol?

8 A. I don't remember testimony that there was, it was
9 against a specific hospital policy or procedure, no.

10 Q. Do you remember her saying it is not something they
11 normally do?

12 A. I don't remember if it was said in that particular
13 way, no.

14 Q. What do you remember her saying about it?

15 MR. COAKLEY: Objection.

16 MR. DAPORE: If you want to refer to the
17 deposition, you may do so, Doctor. You don't
18 have to go --

19 9 Absolutely, if you want to refer to anything --

20 A. I would be happy to see if we can find a passage.

21 MR. DAPORE: If you have a specific
22 passage you want to direct the Doctor's
23 attention to, please do.

1 MR. COAKLEY: I don't think it is fair to
2 make this a memory contest as to what the
3 deposition says.

4 MR. DAPORE: Do you have a specific passage?

5 MR. MELLIMO: No.

6 MR. CGAKLEY: Well --

7 Q What do you remember her saying about giving
8 Demerol?

9 MR. DAPORE: He's not going to answer
10 that question unless you have a specific
11 passage that you want to refer him to. I am
12 not going to have him play the recollection
13 game of everything that was contained within
14 her deposition.

15 MR. MELLINO: I asked if he remembered
16 her saying it was against procedure. He said
17 not specifically.

18 MR. DAPORE: That's not what he testified
19 to, that question.

20 MR. MELLINO: I think it was. I don't
21 want to argue about what his --

22 Q Do you know what the procedure is at Geneva
Hospital for having patients sign out against medical advice

1 after the giving of Demerol?

2 A No. I'm not aware of th policies or procedures
3 at Geneva Hospital.

4 Q Is that something that you do at this hospital?

5 A Are you asking is there a specific policy with
6 regards to that?

7 MR. DAPORE: Objection to what policy is
8 set up in this hospital. It is irrelevant to
9 this case. It does not purport to be a
10 standard of care for the care and treatment
11 of patients. And to that extent I will tell
12 him not to answer the question.

13 MR. MELLINO: I would like to ask him
14 what he does.

15 MR. DAPGRE: You can't, because that's
16 not the standard, what he **does**.

17 MR. MELLINO: It is a discovery deposition.

18 MR. DAPORE: That's quite all right, it
19 is a discovery deposition. But what he does
20 or what this hospital does is in no way
21 relevant to this case.

22 MR. MELLINO: Certainly it is evidence
23 as to the standard of care.

1 MR. DAPORE: It isn't evidence as to the
2 standard of care. That's the specific question
3 If you want to ask him --

4 MR. MELLINO: If --

5 PIP, DAPORE: If you want to ask him what
6 he feels the standard of care is.

7 MR. MELLINO: I may ask him later on. I
8 am asking what he does.

9 MR. DAPORE: He won't answer that question
10 BY MR. MELLINO:

11 Q Do you have written procedures in this hospital
12 concerning signing out against medical advice?

13 MR. DAPORE: Objection. You can answer
14 the question.

15 A I believe there is a written policy with regards
16 to t at.

17 a Does it allow a patient to sign out against medical
18 advice after being given Demerol?

19 A I don't believe the policy specifically addresses
20 that point.

21 Q In the times that you have signed out a patient
22 against medical advice, have you done it after giving Dernerol?

23 A You are asking me if all the patients I have ever

1 discharged in the last 10 years from an emergency department,
2 did any **of** them get Demerol?

3 Q Not discharged, signed out against medical advice.

4 A Did any **of** them have Demerol prior to their
5 discharge? That's a very difficult answer for me to go back.

6 MR. DAPORE: Only if you can recall.

7 A I cannot recall specifically any patients that had.

8 Q **Your** opinion is that there is nothing wrong with
9 giving Demerol, then having a patient sign out against medical
10 advice, **Demerol** in a dose *of* 75 milligrams?

11 MR. COARLEY: There are two different
12 questions on the table. Please --

13 MR. DAPORE: That's Dot what he testified
14 to. That's the way you are framing the
15 question as if he testified to that. If you
16 want to rephrase the question and ask him if
17 **it** is his opinion.

18 MR. COAKLEY: I also object on the basis
19 that there are two questions being asked of the
20 doctor at the same time. First you asked if
21 Demerol generally, then you asked of a specific
22 dosage. I think you should break the question
23 down .

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

MR. MELLINO: Are you auys done now?

MR. DAPORE: Uhm-hmm. You asked a compound question.

MR. MELLINO: I was just clarifying what I meant by Demerol.

MR. COAKLEY: Then tell the doctor you want -- we can check, there were two questions. First you asked about Denerol generally, then you asked about 75 mg's. So --

MR. MELLINO: I never finisher! the one question.

MR. COAKLEY: I'm objecting because I think the question is unclear.

MR. MELLINO: I guess you want to spend the afternoon here if you want to object to everything.

MR. COAKLEY: No. That's the first objection I have had.

BY MR. MELLINO:

P Doctor, what's your opinion on the use of Demerol in signing out against medical advice?

A A very difficult question to answer. I think if you go back to the ground rules that I gave you for signing out a

patient against medical advice, it is appropriate that they
2 be competent to understand the consequences of their action
3 and be appropriately able to understand the disease process
4 that they have. And to that extent, any medication be it
5 Denerol or something else which has now altered their ability
6 to understand and to comprehend the consequences of their
7 action makes their discharge against medical advice difficult
8 given the ground rules that I gave you to begin with.

9 And in that context it is very difficult for me to
10 answer does giving drug X and in this dose make it inappropriat
11 to discharge somebody. That has nothing to do, you are not
12 giving me any idea of time frame, illness, competency to
13 understand which goes to my original discussion of against
14 medical advice. That really is the crux of the issue.

15 Q Well, we can agree Denerol affects a person's
16 ability to understand?

17 A I don't think I said that.

18 MR. COAKLEY: You did not.

19 Q I didn't say you said that. I said can we agree
20 on that? Apparently not.

21 A Denerol in some patients in some time frame may
22 indeed alter a patient's mental status, yes.

23 Q Well, under what circumstances do you think it a ters

1 someone's mental status?

2 A When after giving it their mental status is
3 different than prior to giving it.

4 Q And what evidence is there in this record that
5 her mental status did not change? Do you know what her
6 mental status was before the giving of the Demerol?

7 A Not having seen the patient, I don't have that.
8 I have the testimony of others, specifically, again, Nurse
9 Robinson, that the patient appeared to be competent and able
10 to understand and sign the AMA form in the emergency department
11 after having received a dose of Demerol.

12 Q That's the only basis for your opinion that the
13 Demerol did not change her or did not affect her ability to
14 comprehend is Nurse Robinson's testimony?

15 A I think that and coupled with a practice experience
16 which doesn't, I mean there is a prevailing opinion that
17 once you have received Demerol or something like that you
18 are automatically incompetent and impaired. And clinically,
19 that's not the case.

20 Q Well, I assume you have some idea what the effect
21 of Demerol would be on a woman the size and weight of Mrs.
22 Lovett?

23 A I don't know if I have her size and weight.

1 MR. DAPORE: From the autopsy, **it** indicates
2 135 pounds, 5 feet, 7 inches. That's from the
3 autopsy report.

4 A That's an average-built person. And certainly the
5 dose of Demerol was not excessive. I mean this is a relatively
6 standard size dose for a person of her size.

7 Q All right. When **it** was given with the purpose --
8 do you know why **it** was given?

9 A I presume **it** was given to alleviate her pain.

10 P Do you know if **it** was successful in doing that?

11 A I don't know from reading the materials that I have
12 reviewed.

13 Q And would a dose that size in that size person
14 have any effect on their ability to understand? -

15 A What you are asking me to say is an always or a
16 never. And I think that's impossible to say.

17 Q So **it** could have had an effect on her ability to
18 understand?

19 A **It** certainly might not have.

20 Q But **it** could have?

21 A **It** is possible that in a dose for that person
22 **it** might have had an effect on her mental status, yes.

23 Q Are you aware of testimony of both Nurse Robinson

1 and Mr. Lovett that she slipped or fell when she came out of
2 the bathroom that night?

3 A I believe the record shows that she nearly slipped
4 and she did not fall, if memory serves me correctly.

5 Q That she slipped and nearly fell. Do you think
6 the Demerol had anything to do with that?

7 A I think it is impossible for me to tell from the
8 review of the records.

9 Q Is it appropriate within the standard of care to
10 involve a family member in the decision to sign out against
11 medical advice?

12 A I think there may be times when that's appropriate.
13 I don't think it is the standard of care that it has to be
14 done in every case.

15 Q What about in this case?

16 A Again, I think the times when it is appropriate
17 are when the physician feels the patient needs to stay,
18 cannot convince the patient of that, then it is appropriate
19 to use whatever means are available to you as a physician
20 if you feel strongly enough about an admission to get that
21 patient to stay. And certainly involvement of family is
22 appropriate.

23 Q Weren't those circumstances present here?

1 A I think so, yes.

2 Q So it would have been appropriate for Dr. Conant
3 to involve the husband in the decision?

4 A What I guess the question is -- what do you mean by
5 involve?

6 Q Well, should he have had him sign the AMA form?

7 A I don't believe so.

8 Q Should he have explained the diagnosis to him?

9 A I think it is appropriate for family members to
10 understand diagnoses, to understand the wishes of their
11 family member, and to understand the consequences of their
12 action.

13 Q Where is that documented in the record that Dr.
14 Conant did that?

15 A I don't believe it is specifically documented in
16 the emergency department record that I can, that I am aware
17 of.

18 MR. COAKLEY: By the records, are you
19 referring to the records he reviewed?

20 MR. MELLINO: No, I was referring to the
21 hospital record. And you can feel free to
22 review them if you like.

23 MR. DAPORE: You mean a direct reference

1 to a conversation with Mr. Lovett himself,
2 is that what you are asking?

3 MR. MELLINO: I am asking where it is
4 reflected in the record that the diagnosis
5 was explained to Mr. Lovett.

6 A. I don't believe it is.

7 Q. Is it reflected anywhere in the record that the
8 diagnosis was explained to Mrs. Lovett?

9 A. I believe it is both in the record itself where it
10 states patient advised admission and also in the release form
11 which states that I acknowledge that I have been informed of
12 the risk involved and hereby release attending physician,
13 et cetera.

14 Q. Well, what is it a risk of that's being warned of
15 in there, in the AMA form?

16 A. Well, I can only presume that the diagnosis and
17 the associated risks of leaving the hospital were explained
18 to the patient. Clearly one can't have a form that covers
19 every potential complication of leaving against medical
20 advice as those complications vary depending upon the reason
21 that someone is requesting admission.

22 Q. Well, shouldn't that be reflected in the chart then?

23 A. Shouldn't what?

1 Q. That the risks were explained to her.

2 MR. DAPORE: Objection. He just answered
3 that **it** is on the face sheet and in the **form**
4 itself she signed.

5 MR. MELLINO: Not what the risks were.

6 MR. DAPORE: Do you want to read that
7 again?

8 A I acknowledge that I have been informed of the risk
9 involved.

10 Q. Right. What does that mean?

11 A That I acknowledge that I have Seen informed of the
12 risk involved.

13 **a** What's the risk? -

14 A The risk of leaving against medical advice.

15 Q. Yes. But what is it? Is **it** death, what is --

16 MR. COAKLEY: You are being argumentative
17 with him now, Chris.

18 MR. MELLINO: I thought I would join in
19 with you guys.

20 Q. What's the risk that she's being warned against?

21 MR. DADORE: Is it specifically enumerated,
22 each individual risk? Is that the question
23 you are asking the doctor?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

MR. MELLINO: No.

Q Do you understand the auestion?

A NO.

Q What was the risk of **her** leaving the hospital that night?

MR. DAPORE: Based on what?

Q Based upon her condition.

MR. DAPORE: Does that help you answer the question?

A Based on my review of the medical record, there are many risks associated with her Leaving and a tentative diagnosis that **was** placed here, certainly **fever**, vomiting, dehydration, a worsening abdominal condition and potential for death.

Q And those risks are not spelled cut anywhere in the chart: am I correct in that?

A I don't see those risks specifically enumerated in the chart.

Q And the risk of death would certainly be greater than the risk of a fever; **would** that be correct?

A What do you mean by greater? I'm not sure.

Q More life-threatening?

A Certainly.

1 Q Would it have been appropriate for the risks that
2 were told to the patient, the actual risks of her leaving
3 the hospital, would it have been appropriate for those to
4 have been recorded in the chart?

5 A If you are asking me if every risk that was
6 explained to the patient should be written specifically on
7 the chart, I don't think that's appropriate.

8 Q What about the major risks?

9 A I think the physician's attempt is to document
10 he advised admission, to explain to the patient, and if the
11 patient is competent, to have the patient sign stating they
12 have been informed and they understand the risk. I think
13 that's appropriate.

14 Q Well, you have already told me there is a lot more
15 involved in signing out against medical advice than just
16 being advised of admission; isn't that right? You said the
17 patient has to be aware of the diagnosis and have an
18 appreciation of the risks?

19 A Yes, that's correct.

20 Q There is nothing in this chart to tell us that
21 Mrs. Lovett understood what the diagnosis was or what the
22 specific risks of her leaving the hospital that night were,
23 her diagnosis --

1 MR. DAPORE: Objection. He already
2 testified to that, that there is documentation.

3 Q I said of the specific risks.

4 MR. DAPORE: He already testified to
5 that as well.

6 P Can you answer the question, Doctor?

7 A Would you repeat the question?

8 Q Sure. Is there anything in the chart that reflects
9 that Mrs. Lovett was advised of the specific risks of leaving
10 the hospital with her condition on October 5th?

11 A And by specific you mean a list of the myriad of
12 things that could potentially happen to her?

13 Q Well, the risks you have enumerated for me earlier,
14 the risk of the worsening abdominal condition, death?

15 A. Those items are not specifically listed! on the
16 chart.

17 Q So you don't know whether she was told of those
18 risks or not?

19 MR. DAPURE: Objection. He can't make
20 that judgment.

21 A I can't answer whether she was specifically informed,
22 of what individual risks she might have been informed of.

23 Q You just don't know: do you?

1 A. Of the specific items that were told to her?

2 Q. Right.

3 A. No.

4 Q. You don't know whether she was told what her
5 diagnosis was; do you?

6 A. I only know what was told to her through the
7 deposition of others who were involved in telling her.

8 Q. Well, is there anything in Nurse Robinson's
9 deposition that said she was informed of the specific risks
10 of her condition, of leaving with her condition?

11 A. I believe Nurse Robinson testified that the specific
12 diagnosis was told to her, and not in a specific manner, but
13 in general terms they did discuss the risks of leaving. I
14 don't remember that real specifics of every potential complica-
15 tion were listed in Nurse Robinson's deposition either.

16 Q. What is cholecystitis?

17 A. It is inflammation of the gall bladder.

18 Q. That's what they thought her condition was when
19 she was in the hospital?

20 A. That's correct.

21 P. In the emergency room?

22 A. During the visit of 10/5/86.

23 Q. And it turned out that wasn't her condition; correct?

1 A Correct, from the autopsy report that was not.

2 Q I take it that her, the physical findings on the
3 October 5th admission were consistent with other diagnoses?

4 MR. DAPORE: Do You understand the
5 question?

6 THE WITNESS: No.

7 A If *you* would repeat --

8 Q Were the physical findings in the emergency **room**
9 on October 5th consistent with other diagnoses besides the
10 one made?

11 A Given the, just physical exam findings, yes, they
12 are consistent with other diagnoses.

13 Q What other diagnoses?

14 A Ulcer disease, pancreatitis, gastritis, probably
15 the major diagnoses to consider.

16 MR. DAPORE: Is that in addition to the
17 cholecystitis?

18 THE WITNESS: In addition to cholecystitis,
19 yes. I'm sorry, I thought the question was in
20 addition to.

21 Q What about peritonitis, would that be consistent
22 with that?

23 A I don't think the exam findings on 10/5 are consistent

1 with peritonitis.

2 Q Are they inconsistent with peritonitis?

3 A Yes.

4 Q You could rule out peritonitis based on those
5 findings?

6 A Peritonitis generally presents with an abdomen
7 that's rigid, extremely tender, rebound tenderness. There
8 are many findings that are not present here that would
9 suggest the diagnosis of peritonitis. So I don't believe
10 the patient had peritonitis.

11 Q Could you rule out peritonitis based upon the
12 physical findings contained in the chart or would you need
13 to do more --

14 A No. I think you could rule it out by the, on the
15 basis of physical exam findings in this chart.

16 Q Do you have an opinion as to when the perforation
17 occurred?

18 A Yes, I believe it occurred at sometime following
19 this visit of 10/5/86.

20 Q When do you think it occurred?

21 A There is no way to be sure of the time. But my
22 best estimate would be at the time when she became more
23 acutely ill in the middle of the night and then exhibited,

1 you know, severe or findings more consistent with ruptured
2 viscus and peritonitis.

3 Q What were the symptoms that were consistent with
4 the ruptured viscus?

5 A An acute worsening of her pain which Mr. Lovett
6 describes as sometime more in the middle of the night.

7 Q You believe that his testimony was there was an
8 acute worsening of pain sometime in the night?

9 A As I recall, at one point the patient screamed out,
10 became more uncomfortable, I believe, and became unresponsive
11 shortly thereafter.

12 Q Is it appropriate to give narcotics when somebody
13 presents in the emergency room with abdominal pain of unknown
14 etiology?

15 A We are talking about a patient with no diagnosis
16 or no potential diagnosis, is that --

17 Q Yes.

18 A So *you* are asking if it is inappropriate to give
19 Demerol to someone when you have no idea what might be causing
20 their abdominal pain?

21 Q Right.

22 A I think until one has an understanding of at least
23 a differential diagnosis of probable causes, yes, it is

1 inappropriate to give Demerol.

2 Q It is inappropriate to give Demerol?

3 A When you have no idea what is, what is wrong with
4 the patient. Once a patient has been examined and you have
5 some idea, there are certain conditions when Demerol is
6 appropriate.

7 Q Why is it inappropriate to give it?

8 A Why is it inappropriate to give it?

9 Q Right.

10 A Because there are some acute surgical conditions
11 which will require going to the operating room right away
12 where it might interfere with other medications that would
13 need to be given paraoperatively, that is around the time
14 of the operation. Until one has a feeling for what's wrong
15 or potentially might be wrong with a patient, you don't want
16 to make their pain go away, because it may make it more
17 difficult for you to arrive at a set of differential diagnoses.

18 Q Can Demerol mask symptoms of what you may be looking
19 for?

20 A Demerol takes pain away. And it may alter the pain
21 pattern in a patient.

22 Q And makes it more difficult to diagnose?

23 A If the diagnosis that you are relying upon includes

1 the pattern and the change in their pain, it may make it more
2 difficult, because it may alter the natural course of pain
3 in that patient.

4 Q All right. And do you feel it was appropriate
5 for Dorothy Lovett to get Demerol on the October 5th
6 admission or emergency room visit?

7 A It is appropriate from the point of view of a
8 patient with pain with a probable diagnosis of acute
9 cholecystitis for Demerol to be given for pain relief.

10 Q When was the Demerol given?

11 A According to this emergency department record,
12 it was administered at 7:40 p.m.

13 Q Was that before or after she saw Dr. Conant?

14 A I don't know that I have any indication in the
15 record from which I can make a judgment.

16 MR. DAPORE: For the record, this is all
17 his handwriting.

18 Q You don't know?

19 A I can't tell. I mean there are no times for me to
20 understand. I don't have a copy.

21 THE WITNESS: Is that the complete
22 emergency department record?

23 MR. DAPORE: Yes. Those are his records.

1 And those are the times taken off by the nurse
2 at which they were given or which they were
3 done.

4 A The only thing that would lead me to say that was
5 after is there is atropine given at 7:10 and presumably that's
6 written as a physician order. I think it would be extremely
7 unlikely that that was given prior to examination.

8 So at 7:30 I would have, 7:40 I would have to assume
9 the patient had been seen by Dr. Conant.

10 Q So you are assuming that the Demerol was given after
11 the patient already had been examined by Dr. Conant?

12 A Yes.

13 Q Would it have been inappropriate to give it before
14 he examined her?

15 A Would it be inappropriate to give Demerol prior to
16 the examination of a patient in the emergency department, yes.

17 Q I'm sorry, you probably answered this before. But
18 what in the physical findings on the admission or the emergency
19 room visit on October 5th would cause you to rule out periton-
20 itis?

21 A The abdomen is flat. Actually the major things are
22 the description which really does not describe what we call an
23 acute surgical abdomen, which is an abdomen which is tense with

MACHINE
SHORTMAN
REPORTING CO.

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-3

1 rebound tenderness, typically peristalsis would be absent in
2 peritonitis. So this description is not of someone with
3 peritonitis.

4 Q Okay. You would look for somebody with a distended
5 abdomen?

6 A Not necessarily. Sometimes it will be distended,
7 sometimes not, depending on the etiology of peritonitis.

8 Q How long does it take for the abdomen to become
9 distended after the perforation occurs?

10 A The abdomen would not necessarily become extended
11 just from a perforation. There are many causes. It is
12 simply an inflammation of the inside of the abdomen.

13 Q How was it caused in this case?

14 A You mean how was it caused as evidenced at the time
15 of her death?

16 Q Yes. Is that something different than --

17 MR. DAPORE: You are asking him a couple
18 of different questions.

19 Q Answer the first one.

20 MR. DAPORE: Rephrase the question, please.

21 Q How was it caused, the peritonitis?

22 A Peritonitis at the time of her autopsy was
23 secondary to rupture of an ulcer, and I don't remember the

1 exact location of it, duodenal ulcer.

2 Q And are you differentiating that? I don't understand
3 the reason for your distinction of that.

4 I understand your distinction for pointing to the
5 autopsy. Is that your opinion as to the cause of peritonitis
6 in this case?

7 A I'm not sure I understand the question,

8 Q I asked you what the cause of peritonitis was.

9 A The only way I can make a judgment as to the cause
10 of peritonitis is through the autopsy record.

11 Q Okay.

12 A At the time of autopsy, she had peritonitis, which
13 was presumed to be secondary to a rupture of a duodenal ulcer.

14 Q And you have no reason to dispute that?

15 A I have not seen the autopsy. I have just the
16 records to review. I can't dispute that.

17 Q Did she have symptoms of peritonitis from the visit
18 to the emergency room on October 4th?

19 A Symptoms of peritonitis are very non-specific.
20 Abdominal pain is a symptom of peritonitis. So in that broad
21 sense, yes. Abdominal pain is one of the symptoms of
22 peritonitis.

23 On the other hand she did not have physical findings

1 that were consistent with the diagnosis of peritonitis.

2 Q Were they inconsistent with the diagnosis of
3 peritonitis?

4 A I think I have already testified that they were.

5 MR. COAXLEY: You are talking about
6 October 4th.

7 MR. MELLINO: The first visit.

8 A No. I believe she had no symptoms consistent with
9 peritonitis.

10 Q On October 4th?

11 A On the October 4th visit to Geneva Hospital.

12 Q What's the mortality rate for somebody that is
13 diagnosed as having perforated viscus?

14 MR. DAPORE: Under what circumstances,
15 for how long?

16 MR. MELLINO: If he needs those qualifica-
17 tions, he can certainly ask.

18 A I can't answer, Perforated viscus is a very
19 general term. Depends upon the viscus that's ruptured, the
20 acuteness of its rupture, the patient's age, underlying
21 medical condition. It is impossible for me to make a mortality
22 figure for you.

23 Q Is it something that's usually diagnosed fairly

1 quickly?

2 A You are speaking specifically of what entity,
3 ruptured viscus?

4 Q Yes.

5 A Again, ruptured viscus is generally, yes, an acute
6 presentation that is relatively easily recognized.

7 Q Do you know what the mortality rate is for someone
8 who is operated on for a perforated viscus?

9 A No, I don't. Again, I think it is, I mean it is
10 impossible to give you a number based on that question. I
11 think there are **so** many other variable factors.

12 Q Do you have an opinion as to a reasonable degree
13 of medical probability whether Dorothy Lovett needed surgery
14 when she presented at the emergency room on October 5th?

15 A At the time of the visit to the emergency department
16 on October 5th, there is nothing that I can see in the record
17 that would have necessitated immediate surgery, if that's the
18 question.

19 Q Do you have an opinion as to what her condition
20 was on October 5th when she presented at the emergency room?

21 MR. DAPGRE: How do you mean that,
22 retrospectively, prospectively? Which one,
23 prospectively or retrospectively.

1 MR. MELLINO: He was not there. So it
2 would have to be retrospectively.

3 A I'm not sure. Maybe you would have to explain to
4 me what you mean by condition. I have reviewed the records.
5 So I have an opinion of what was written that she looked like.
6 I'm not sure what you mean by condition.

7 Q Well, we know that she didn't have cholecystitis
8 which was the diagnosis at that time.

9 A We know in retrospect following an autopsy that she
10 didn't have cholecystitis. That's not to say that diagnosis
11 of cholecystitis was inappropriate at the time of her admission

12 P I didn't say it was. And you testified earlier that
13 in your opinion she didn't have a perforation at that time?

14 A Correct.

15 Q So I guess I'm asking you what her condition was at
16 that time.

17 A By condition you mean the specific disease entity
18 that might have caused her to come to the emergency department?

19 P Yes.

20 A I think certainly given the history and physical
21 exam findings that are present on the chart, that a diagnosis
22 of acute cholecystitis is appropriate.

23 Q You think that's the disease process that brought

1 her to the emergency room on October 5th?

2 A You are asking a very difficult question, because
3 in retrospect with the review of the autopsy records I know
4 it is not the disease entity that caused her to come. So how
5 do you want me to answer it?

6 Q I said retrospectively. It should be an easy
7 question to answer.

8 MR. DAPORE: No, it is not.

9 A I think I have already answered.

10 MR. DAPORE: He answered the question.
11 And I'm going to instruct him not to answer
12 again.

13 MR. MELLINO: He answered what question?

14 Q Of whether cholecystitis was a disease process
15 that brought her to the emergency room on October 5th?

16 MR. DAPORE: He feels that it was an
17 appropriate diagnosis based upon some records.

18 MR. MELLINO: I never asked him that
19 question.

20 MR. DAPORE: You did ask him the question.
21 You have asked him what the autopsy contains
22 as far as a cause of death. And the cause of
23 death is listed in the autopsy as a perforated

1 duodenal ulcer.

2 MR. MELLINO: Tony, we can spend the whole
3 afternoon here if you are going to keep
4 interrupting and testifying. He didn't answer
5 the question that I asked him.

6 MR. DAPORE: He's told you what's in the
7 autopsy. He told you what's in the records.
8 Now he can't tell you anything different
9 between the two.

10 MR. MELLINO: Be already said that
11 cholecystitis was not the disease or --

12 MR. DAPORE: He said cholecystitis in
13 retrospect is not what she had when she came
14 into the hospital on October 5th. That's
15 what he's testified to.

16 MR. MELLINO: Then I asked what disease
17 brought her there. He said cholecystitis. He
18 said it was not an inappropriate diagnosis
19 based on the records. In answer to my question
20 of what the disease process was that brought
21 her, he didn't answer my question.

22 MR. DAPORE: Perhaps you should rephrase
23 your question in a form in which it can be

1 answered then.

2 MR. MELLINO: Well, I don't understand
3 why he can't answer the question.

4 MR. DAPORE: Rephrase your question.

5 BY MR. MELLINO:

6 Q What was the disease process that brought Dorothy
7 Lovett to the emergency room on October 5th?

8 A In retrospect knowing the results of the autopsy
9 which showed that the patient had an ulcer which at some point
10 prior to her death perforated, it certainly is possible that
11 the pain she was experiencing at the time of the visit of
12 10/5 was pain secondary to a duodenal ulcer.

13 Q And if an electrolyte study had been done or
14 temperature been taken or a blood count been taken or
15 orthostatic pulse change taken, would those have assisted
16 in making a diagnosis of pain secondary to a duodenal ulcer?

17 MR. COAKLEY: Could we have those listed
18 again or just repeat them? Would you repeat
19 the question for me, please?

20 (Whereupon, the pending question was read
21 back by the Court Reporter.)

22 MR. COAKLEY: Thank you.

23 A Maybe we can take them one at a time rather than all

1 at once. It *seems* silly to answer the question yes or no.

2 If the question is would any of these have assisted
3 the emergency physician in making a diagnosis of pain secondary
4 to a duodenal ulcer, electrolytes, no, CBC, probably not.

5 You may have to read back to me.

6 Q. Temperature.

7 A. Temperature, no. Patients with duodenal ulcers
8 alone with pain have no reason to have altered temperatures,
9 If one expected the patient to be severely dehydrated, perhaps
10 that may have, orthostatics would allow you to determine that
11 easily. Doesn't differentiate dehydration from volume loss
12 such as not eating or drinking well or from that associated
13 with blood loss. -

14 And there are things in the record which would make
15 us not suspect an acute blood loss such as the fact there was
16 no melanin, no hematemesis is found in the blood.

17 Q. Are there any indications in the record she was
18 dehydrated?

19 A. Does say that mucus membranes were dry. But then
20 it is, it also states skin turqor was good. So that really
21 in some ways is kind of a conflicting piece of information.
22 Mucus membranes can often be dry from many things. But if a
23 patient becomes dehydrated, generally the skin turgor becomes

1 also not so good.

2 Q Well, if it is conflicting, would it have been
3 helpful to do more tests?

4 A Well, with skin turgor being good, you know, it is
5 less likely that the patient's truly dehydrated.

6 Q But you just don't know from this physical finding
7 there?

8 A Well, it is, again, mucus membranes dry is a
9 subjective view. And so it is not exactly clear what that
10 means.

11 At the same time the patient's pulse was not
12 elevated, which one might expect if a patient was severely
13 dehydrated.

14 Patient might be somewhat hypotensive. So there
15 are, patient had not been vomiting.

16 So there is really no reason to suspect severe
17 dehydration based upon the rest of the history and other
18 physical exam findings.

19 Q Would the electrolyte study and temperature and
20 CEC and orthostatic pulse change been helpful in diagnosing
21 the condition if the ulcer had already ruptured?

22 MR. DAPORE: You have asked a different
23 question now. You said changes in those,

1 changes in what?

2 MR. MELLINO: I didn't say changes.

3 MR. DAPORE: I believe your question
4 was if those changed, would they be helpful
5 in diagnosing if it was a perforated viscus.
6 I don't know if that's what you meant to ask
7 or not. But if it is, the change from what?

8 MR. MELLINO: Why don't you read the
9 question back.

10 (Whereupon, the pending question was read
11 back by the Court Reporter.)

12 MR. DAPORE: You did ask changes.

13 MR. MELLINO: Orthostatic pulse change,
14 same thing I asked in the earlier question.

15 MR. DAPORE: I thought you were grouping
16 them all together.

17 MR. MELLINO: You are interrupting. You
18 are not listening.

19 MR. COAKLEY: It was a fair clarification.

20 MR. MELLINO: Nothing had to be clarified.

21 MR. DAPORE: You did, the way you asked
22 the question, the way that question came out
23 is you were lumping them all together.

1 MR. MELLINO: I am still lumping them
2 all together.

3 MR. DAPORE: As changes in all of them.

4 MR. COAKLEY: Why don't you ask it again,
5 Chris, please.

6 MR. MELLINO: Fine. Do you want to go
7 back, I will ask every one of the questions
8 again.

9 BY MR. MELLINO:

10 Q Do you know what the question is, Doctor?

11 A No.

12 MR. MELLINO: Go ahead and read the
13 question back.

14 (Whereupon, the pending question was read
15 back by the Court Reporter.)

16 A. The question is if the patient had come to the
17 emergency department with a perforated ulcer at the time that
18 they had been there, would any of those tests have helped?
19 I think --

20 Q Helped make the diagnosis of perforated ulcer?

21 A. No. Probably the only thing that one might have
22 seen would have been some non-specific elevation in the white
23 blood cell count, again, not necessarily diagnostic of anything

1 Q But would the elevated -- what did you say, white
2 count?

3 A Uhm-hmm.

4 Q Would that have prompted an emergency room doctor
5 operating in the standard of care to do more tests to determine
6 why the blood count was elevated?

7 A Blood count is a very non-specific test. Can be
8 elevated in gastritis, can be elevated in cholecystitis. And
9 I don't think given the clinical picture here which is
10 certainly consistent with cholecystitis it would necessarily
11 have prompted any other study.

12 Q Would the temperature have been elevated?

13 A In the very late stages of peritonitis it certainly
14 is possible that the temperature might either be elevated
15 or, in fact, be low in some patients.

16 Q What would have been the purpose of admitting
17 Dorothy Lovett to the hospital?

18 A This is a patient who now in the course of three
19 days has had three emergency department or clinic and
20 emergency department visits for abdominal pain. At this
21 point in time the patient is still complaining of apparently
22 significant abdominal pain, has a presumed diagnosis of acute
23 cholecystitis.

1 And admission would have been for I.V. hydration
2 and f r furth r diagnostic workup to try to determine whether
3 that was, in fact, the etiology of her pain.

4 Q Couldn't the I.V. hydration therapy been done while
5 she was in the emergency room?

6 A It could have certainly been started while she was
7 in the emergency room. But again, the main purpose of
8 admitting to the hospital would have been for diagnostic
9 evaluation.

10 Q Couldn't more of a diagnostic evaluation have taken
11 place in the emergency room?

12 A If one is using a working diagnosis of cholecvstitis,
13 the studies that are required are not available in every
14 emergency department, The studies are ultrasound of the
15 gall bladder, perhaps gall bladder series, upper GI series.
16 These are things that are not routinely available in the
17 emergency department.

18 Q Do you know if they were available at Geneva
19 Memorial Hospital on October 5th?

20 A I don't know specifically. But I think it is fair
21 to say that the average nontertiary care hospital does not
22 have those diagnostic studies immediately available.

23 In addition, many of those tests are studies which

1 a patient needs to be prepared to get an optimal study.
2 And so they logistically cannot be done in the emergency
3 department.

4 Q But you don't know whether they could have been
5 done here in this case?

6 A I don't know specifically.

7 Q Wouldn't that be important in terms of rendering
8 an opinion in this case?

9 A Well, again, I have stated that I think it is
10 fair given the size and the nature of, I mean this hospital
11 not being a tertiary referral hospital, that most of those
12 would not be available. And again, most of these studies
13 are not available because of the fact patients do need to be
14 properly prepped in order to have the studies done. So sub-
15 optimal studies are obtained if you try to get them without
16 any advance preparation of the patient.

17 Q What kind of preparation needs to be done?

18 A Often patients are NPO, that's nothing to eat by
19 mouth overnight. Bowels are cleaned for many radiologic
20 studies in order to get rid of gas and stool that interferes
21 with the study.

22 If I can back up one second, I have a vague
23 recollection, and I don't remember which deposition it is in,

1 of a discussion, maybe it is in Nurse Robinson's, a discussion
2 about the fact that those studies would not be available until
3 probably the Monday morning. I believe, maybe it is in Nurse
4 Robinson's deposition.

5 But I would have to go back and find the specific
6 passage.

7 Q Are you aware of whether she could have been
8 transferred to another hospital to have these diagnostic
9 studies done?

10 A I'm not aware of the transferral guidelines or
11 arrangements of this particular hospital.

12 Q Would that have been something that could have been
13 done?

14 A I think these are, the studies that I have just
15 listed for you, ultrasound, gall bladder series, upper GI,
16 which would have been the logical thing to do, these are
17 things that are done at nearly every hospital. And I mean
18 they are not tests that are, patients are routinely trans-
19 ferred for.

20 Q Well, apparently there are some hospitals that
21 don't do them on Sunday or Saturday night, and some that do?

22 A Vast majority of hospitals I would say don't.

23 Q So if the patient comes in with this kind of

1 condition on Saturday night, they have to wait until Monday
2 to be diagnosed?

3 A In some hospitals that's probably true. Again,
4 not because nobody wants to do it, some of it is because of
5 patient preparation. And some is because these are not
6 diagnostic studies which generally are done acutely, because
7 they are not for conditions which need to be acutely diagnosed
8 in that sense.

9 Q You don't think that was a case that needed to be
10 acutely diagnosed?

11 MR. DAPORE: Objection. That's
12 argumentative. If you want to rephrase the
13 question.

14 MR. MELLINO: No, I don't.

15 Q Do you want to answer it?

16 A No.

17 Q Was it important for her to have diagnostic studies
18 done to determine what her condition was on October 5th, you
19 would have the diagnosis made that night?

20 MR. DAFORE: In terms of what, what was
21 known when she came into the emergency room
22 on October 5th?

23 MR. MELLINO: Well, I think we know in

1 retrospect what it was.

2 Q SO w at was known on October 5th?

3 MR. DAPORE: Based on what's here on
4 this emergency room record?

5 Q Based on what her condition was when she came in
6 on October 5th.

7 A Given the information I have on the E.R. record of
8 the 5th and findings consistent with the acute cholecystitis,
9 I don't think there is a need to emergently in the middle of
10 the night obtain any of the studies I have just listed as far
11 as further diagnostic workup.

12 Q It was not in the middle of the night though when
13 she came in; was it?

14 A I will rephrase it. At 9 o'clock at night, 8
15 o'clock at night.

16 Q When she came in it was 7 o'clock; wasn't it?

17 A Okay, at 7 o'clock at night. One has to build into
18 the scenario that patient evaluation is not instantaneous.
19 So she's there at 7 o'clock, a certain amount of time has to
20 transpire for evaluation.

21 Q Sure. But it certainly was not the middle of the
22 night?

23 MR. DAPORE: Is that a question?

1 A No, it wasn't the middle of the night. I misspoke.

2 Q Wou d an abdominal X-ray have assisted in the
3 diagnosis of pain secondary tu a duodenal ulcer?

4 MR. COAXLEY: What time, please.

5 Q 7 o'clock, October 5th, 1986.

6 A In a patient with duodenal ulcer, with pain secondary
7 to duodenal ulcer, an X-ray would not help in the diagnosis.

8 Q In this patient we are talking though, at least
9 that's what I want tu ask.

10 A In this patient at the time of her emergency
11 department evaluation, I don't believe an abdominal X-ray
12 would have aided in the diagnosis.

13 Q Of?

14 A Of either acute cholecystitis or peptic ulcer
15 disease.

16 Q What about if the ulcer perforated previously to
17 her arriving at the emergency room?

18 A In a patient who has a perforated viscus, abdominal
19 X-rays will be helpful in that the free air which has escaped
20 from the viscus will be obvious on the examination.

21 Q So it would have assisted then the diagnosis?

22 MR. DAPORE: Objection. That's not what
23 he said. That's not the question you asked

1 previously.

2 MR. MELLINO: Well, what was the question
3 that I asked previously?

4 MR. DAPORE: You asked whether a patient
5 with peptic ulcer disease, and then with this
6 patient based upon what was in this examination.

7 MR. COAKLEY: Then you changed to a patient
8 with a perforated viscus.

9 MR. DAPORE: He said his opinion is she
10 did not have a perforated viscus when she came
11 in.

12 BY MR. MELLINO:

13 Q What did you just say, it would assist with a
14 diagnosis of a perforated --

15 MR. DAPORE: He answered the question.
16 If there is ulcer disease, it would show free
17 air.

18 MR. MELLINO: I didn't ask it.

19 MR. DAPORE: You asked it before that.

20 Q I said would it show free air if there is a
21 perforated viscus.

22 A It may show free air.

23 Q So it would assist in the diagnosis of peritonitis?

1 A No. It would assist, an abdominal film which shows
2 free air is diagnostic of a perforated viscus. Peritonitis
3 is an inflammatory condition secondary to many causes, one
4 of which might be perforated viscus. One can have peritonitis
5 without free air.

6 Q But Dorothy Lovett had a perforated viscus?

7 A At the time of her death.

8 Q Right.

9 A On autopsy she had a perforated viscus.

10 Q But **if** the perforation had occurred prior to her
11 arriving at the emergency room at 7 o'clock on October 5th
12 and an abdominal X-ray was taken, **it** would have shown free air:

13 A - If one wishes to make an assumption the actual
14 perforation occurred prior to when she arrived at the
15 emergency department. I have already testified I **don't**
16 believe that to be true. If one assumes a perforation in
17 any patient occurs prior to their arrival in the emergency
18 department, then an X-ray should show free air.

19 Q And how would her treatment have been different
20 if you assumed that there was free air and if you assumed
21 that the X-ray had been taken?

22 A Any patient presenting with free air requires
23 surgical exploration to determine the cause of the free air.

1 Q I'm sorry, I may have asked you this before. For
2 what do you base your opinion on that the perforation occurred,
3 you believe it occurred just prior to her death, right, during
4 the night?

5 MR. DAPORE: He answered the question.

6 Q That was based upon Lovett's testimony, your
7 remembrance of Lovett's testimony she had acute pain during
8 the night?

9 A And also the findings here which I don't believe
10 are consistent with perforation (indicating).

11 MR. COAKLEY: The record has to show what
12 you are pointing to.

13 A I'm sorry, the findings of the emergency department
14 chart of 10/5 that I have reviewed.

15 Q Okay. I'm going to ask you to assume she did not
16 have worsening pain that night. Then would you have an
17 opinion based on a reasonable degree of medical probability
18 as to when the perforation occurred?

19 A I'm not sure I understand the question.

20 MR. DAPORE: He wants you to assume that
21 Mr. Lovett has not testified that there was
22 increasing pain during the night.

23 MR. MELLINO: I want him to assume there

1 wasn't, there wasn't an increase in pain.

2 MR. DAPORE: That's what I said, that
3 there was not, that he has not testified --

4 MR. MELLINO: You said Mr. Lovett
5 testified --

6 MR. DAPORE: He has testified there was
7 increasing pain. You want to take that and
8 completely eliminate it from his mind.

9 MR. MELLINO: That's right.

10 A Then my answer has to be that her perforation
11 would have occurred at some point following her visit which
12 is documented in the 10/5/86 chart, because I don't believe
13 that her findings at this point are consistent with perforated
14 viscus.

15 Q If the perforation had occurred just prior to her
16 arriving at the emergency room, what would you expect her
17 presentation to be?

18 A The patient with perforated viscus is a patient
19 who generally presents with an acute surgical abdomen as I
20 have described earlier. This lady does not have an acute
21 surgical abdomen as described in the examination of 10/5/86.

22 Q Even if it occurred just prior to her getting there,
23 she would still have an acute abdomen?

1 A Again, you want it 30 seconds before she walked in
2 the door, two minutes, five minutes?

3 Q Say within an hour.

4 A Yes, signs of acute abdomen develop quickly. That's
5 why it is called an acute abdomen. If you want to ask 30
6 minutes or 40 minutes or 20 minutes, it is an impossible
7 question to answer, Patient is in the emergency department
8 for in excess of an hour. I would expect if she had
9 perforation, her exam symptom complex would be different.

10 Q What was the cause of her cardiac arrest on the
11 6th?

12 A It is I think impossible for me to give you a
13 definite cause of death. Clearly the patient had a duodenal
14 ulcer and peritonitis. Whether the peritonitis contributed
15 to sepsis as a cause of death or whether there was a primary
16 cardiac event secondary to the rupture and peritonitis, those
17 are two reasonable causes.

18 I would probably more strongly argue that it was a
19 cardiac death as it was a very brief period of time from when
20 she got much worse until apparently the paramedics or
21 ambulance crew I believe found her in asystole which would
22 argue for a primary cardiac death probably secondary to
23 abdominal disease.

1 Q You don't believe if she was in the hospital at
2 that time that she could have been resuscitated?

3 A I think that's a question that's impossible for me
4 to answer.

5 Q Well, you stated in your report it wouldn't have
6 made any difference.

7 MR. DAPORE: Improbability.

8 A I think I stated that her diagnostic workup
9 probably wouldn't have occurred. And to say she would have
10 been resuscitated had she been in the hospital versus outside
11 the hospital is purely speculative. I can't give you any
12 numbers as to what her chance of survival in-hospital versus
13 out would have been.

14 Q What's your understanding as to when the tests
15 could have been done, diagnostic tests?

16 A Well, I think I testified earlier that in one of
17 the depositions, and I wasn't sure of the exact location of
18 it, that much of her diagnostic workup would probably not
19 have occurred until the following Monday morning.

20 Q This was Saturday night?

21 A She was admitted Saturday night, I believe.

22 Q So I guess the reason I asked you the question
23 about resuscitation is that I assumed that she could be kept

1 alive until Monday morning and she could have been operated
2 on at that time; is that an incorrect assumption?

3 A That if the patient had a cardiac arrest in the
4 hospital, if she was resuscitated, and if she had the
5 diagnosis of ruptured viscus made, then she could have been
6 operated on. I think that's a fair assumption if the surgical
7 personnel were available at that time to do it, which I have
8 no idea.

9 Q Do you have an opinion as to whether or not if all
10 these things you just listed occurred, if she probably would
11 have survived?

12 A I can't make an opinion regarding that.

13 (Discussion off the record.)

14 MR. MELLINO: Just a few more questions.

15 BY MR. MELLINO:

16 Q Doctor, were there any diagnostic procedures that
17 could have been done while she was in the emergency room on
18 October 5th other than the ones you have mentioned, the
19 ultrasound that she needed preparation? Other than that,
20 were there any other diagnostic procedures that could have
21 been done while she was in the emergency room on October 5th?

22 A Given her overall presentation, again, and the
23 diagnosis of acute cholecystitis, I think if she were to be

1 admitted to the hospital, certainly as I said before, perhaps
2 complete blood count would have been useful, electrolytes
3 routinely as an admission procedure, although again, I don't
4 think they would have helped in the specific diagnosis.

5 Perhaps amylase level, again, with the diagnosis
6 of pancreatitis as I talked about earlier being one of many
7 diagnoses that might be entertained.

8 I think those are the basic laboratory studies that
9 could have been performed on someone with abdominal pain
10 coming to the emergency department.

11 Q How about an abdominal X-ray?

12 A Well, again, I think the abdominal X-ray is useful
13 if one suspects an obstruction or if one suspects or thinks
14 perforation is likely. And those are the two major areas
15 where the abdominal film, abdominal film out of the emergency
16 department is helpful in seeing dilated loops of bowels
17 consistent with obstruction and seeing free air consistent
18 with perforation.

19 Q Could those diagnostic studies have been done in
20 the emergency room without her being admitted?

21 A Certainly patients, if you look at her record of
22 10/4/86, those are many of the studies that were done prior
23 to the time she was discharged or the 4th.

1 Q And they took an abdominal X-ray on the 4th?

2 A It is my understanding they took abdominal film
3 from the 4th. Reported as normal except for a large amount
4 of stool in the colon.

5 Q But you don't think the standard of care requires
6 that those tests have been done in this case?

7 A I think in the evaluation of a patient with
8 abdominal pain, the approach is history and physical
9 examination, formulation of a differential diagnosis, and
10 then appropriate use of studies to either rule in or rule out
11 those entities that you strongly consider.

12 It is not clear to me when those studies were done
13 in this patient.

14 And whether that is because as I said in my letter
15 she didn't want admission or whether that's because Dr. Conant
16 decided not to do them, I have no way of knowing.

17 Q But it could have been done even if she didn't
18 get admitted?

19 A Certainly as evidenced from 10/4. There are
20 studies that could have been done even if the patient were
21 to be discharged.

22 Q Well, I guess I got confused or I didn't understand
23 your answer then. You don't know why they were not done, but

1 it doesn't matter in terms of your opinion whether they were
2 done or not?

3 A My opinion with regards to --

4 Q To the standard of care in this case, whether it
5 was met or not?

6 MR. DAPORE: Do you understand what he's
7 asking you?

8 THE WITNESS: Yes and no.

9 A I mean it makes a difference in terms of her
10 interest in staying, not staying interest and having tests
11 done, not done. So I guess it is fair to say the standard
12 of care in a patient such as this who presents for diagnostic
13 evaluation in the emergency department, allows tests to be
14 performed, and will cooperate with the expected diagnostic
15 results. I think it would be usual for the patient to have
16 probably a CBC done. Although again, as I said, that's
17 somewhat of a non-specific test. Other tests would be guided
18 based upon the history and physical exam.

19 Again, I don't think there is any indication here,
20 for example, abdominal films needed to be performed based
21 upon her history and physical examination.

22 Q Do you believe that would have been one of the
23 diagnostic procedures done had she been admitted?

1 A At some point if nothing else in preparation for
2 say a sma l bowel series or barium enema or gall bladder
3 series she would have had some abdominal X-rays performed,
4 yes.

5 Q Wouldn't the tests we have talked about, the CBC,
6 electrolytes, and amylase, and even an abdominal X-ray,
7 wouldn't they be important for the doctor, the results of
8 those tests be important for him to explain the risk of
9 leaving the hospital to the patient?

10 A Not necessarily. I mean many of the tests that
11 we have talked about are very non-specific tests again. The
12 white blood cell count or complete count gives *you* some clues,
13 but are not specific. Again, elevation of the white count
14 doesn't necessarily mean she has cholecystitis or this or
15 that.

16 So I don't think given the tentative diagnosis
17 of acute cholecystitis that you would need any laboratory
18 data in order to explain the risk of leaving against medical
19 advice to this patient, no.

20 Q Do you believe that when Mr. and Mrs. Lovett
21 left the emergency room on the 5th that they understood
22 what the risks of leaving were?

23 A The only way I can evaluate that is through the

1 depositions of others as to what they were told at the time
2 they were in the emergency department.

3 And from the deposition particularly of Dorothy
4 Robinson, it appears to me she was competent to be told, that
5 multiple attempts were made to convince them this patient
6 should stay, both to the husband and to the patient, and that
7 they were understanding of the nature of the disease and the
8 potential consequences.

9 Q Well, what is your understanding of what risks they
10 were told of?

11 I mean let me be more specific. I can see Mr.
12 Dapore rising up.

13 MR. DAPORE: I am not going anywhere.

14 MR. MELLINO: I didn't mean you were going
15 anywhere.

16 MR. DAPORE: I am not rising up.

17 Q There was a conversation that took place, at least
18 was testified to that took place outside the hospital, Mr.
19 Lovett testified to it, and my recollection of Dorothy
20 Robinson's or Nurse Robinson's testimony was that she didn't
21 have any reason to believe that it didn't take place.

22 And that is that Mr. Lovett asked Dr. Conant if
23 it was serious. And he said it could be if the gall bladder

1 ruptures and something to the effect that if the medications
2 didn't work, they would have to go in and take the sucker out.

3 Is that your understanding as to what risks were
4 explained to the patient or is it more than that?

5 A Well, Mr. Lovett testifies to that. And in Dorothy
6 Robinson's deposition, I don't think she's able to specifically
7 recall that. But I believe, and we can look for a specific
8 passage, that she stated that she discussed the diagnosis
9 both with the husband and with the patient and informed them
10 of the problems that might be associated with leaving. I
11 don't recall any discussion of again specific risks. I mean
12 I don't, I cannot tell you for sure that the patient was
13 informed of a, b, c, and d as far as exact risks. I don't
14 believe that that documentation exists in any of the material
15 that I have gotten.

16 Q Okay. So since the only document we have is of
17 this one conversation, I want you to assume for me that that
18 is the only thing that at least Mr. Lovett was told or let's
19 assume that is what both of them were told regarding the
20 diagnosis and the risks.

21 Is that in your opinion or do you have an opinion
22 if that was, if that was adequate to explain the risks to
23 Lovetts?

1 FIR. COAKLEY: Objection.

2 MR. DAPORE: Objection.

3 MR. COAKLEY: Prior testimony was there
4 were multiple discussions and you are limiting
5 it to one discussion.

6 MR. MELLINO: That's fine.

7 BY MR. MELLINO:

8 Q I am asking you to assume that was the only
9 discussion that was ever had about the risks of her leaving
10 the hospital.

11 A You were asking me if the only thins that was ever
12 said to the family members about the risk of her leaving the
13 hospital-was that statement if the gall bladder bursts, we
14 will have to rip the sucker out. That's not appropriate
15 documentation of either disease and/or risk, if you assume
16 that's the only thing that was said.

17 Q And as far as all of the testimony in the records
18 are concerned, that's the only conversation that we have
19 specific, that we know what specific risks were discussed?

20 MR. COAKLEY: Objection.

21 Q Is that right?

22 MR. DAPORE: Objection. You can answer.

23 A I think there are multiple passages in Dorothy

1 Robinson's deposition, again, where specific risks were not
2 mentioned, but that the topic of explanation of risk to the
3 patient was discussed both with the patient and with the
4 patient's husband.

5 Q But there is nothing in Dorothy Robinson's
6 deposition that you remember, anyway I mean it will speak
7 for itself, that you remember where she specifically described
8 the risks to Mrs. Lovett or Mr. Lovett?

9 A No. I think as I testified multiple times today
10 she stated that the risks were discussed with both Mrs. Lovett
11 and Mr. Lovett. But as to the exact details of that
12 discussion --

13 P We don't know what risks she discussed with them?

14 A I don't know the specific risks, no.

15 Q Would it have been appropriate for I.V. therapy to
16 be carried out in the emergency room prior to her being
17 discharged on October 5th?

18 A Given the information in the medical records that
19 I have here, I don't think that it is necessarily appropriate
20 she have I.V. hydration or I.V. fluid therapy if she was
21 leaving against medical advice.

22 Q But even assuming she was leaving against medical
23 advice, she could have had the I.V. therapy and diagnostic

1 studies done in the emergency room without being admitted;
2 is that right?

3 A Well, I have already said any diagnostic studies
4 similar to the ones the previous day could have been performed

5 The point of admitting the patient with I.V.
6 hydration is actually to give the bowel a rest and feed
7 intravenously for a period of time. To have done that over
8 a course of a one-hour stay in the emergency department would
9 not probably have been particularly fruitful.

10 Q Assume for me, if you would, that the injection of
11 the Demerol made Mrs. Lovett incompetent to execute the AMA
12 form, if that were true, if you assumed that to be true, do
13 you have an opinion as to whether or not the standard of care
14 was met in terms of her signing out against medical advice?

15 MR. DAPORE: Objection, you can answer
16 the question.

17 A I think if you assumed that the only discussion
18 about leaving against medical advice occurred at the point
19 when the patient was not competent to have that discussion,
20 be it from Demerol or any other cause, then the execution of
21 the document would be inappropriate.

22 In this specific case we don't know what discussion
23 occurred prior to the time that the patient got the Demerol.

1 Patient is in the emergency department for a period of 40
2 minutes pr or to that.

3 It is not clear to me what discussion went on.
4 Perhaps a great deal of discussion about her wishing to leave
5 AMA occurred and a final decision was reached prior to the
6 administration of the Demerol. I have no way of knowing that.

7 So if you want to make the assumption that no
8 discussion took place the entire time until the patient was in
9 an incompetent state, then you have not fulfilled the principal
10 AMA rules that I started with two hours ago.

11 MR. MELLIMO: I don't have any other
12 questions.

13 MR. COAKLEY: No questions.

14 MR. DAPORE: You have the right to have
15 this transcript prepared and review it for
16 accuracy. You can't change your comments, only
17 review it. You can also waive that right to
18 signature testing the Court Reporter's ability
19 to take down the information.

20 I feel you can go ahead and waive it.

21 THE WITNESS: Fine, I will waive.

22 * * *

23
MACHINE
SHORTHAND
REPORTING Service

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-3991

C E R T I F I C A T E

The foregoing is certified to be a true
and correct transcript of the testimony in
this proceeding.



Deborah S. Gosline
Notary Public

MACHINE
SHORTHAND
REPORTING Service

424 UNIVERSITY BLDG.
SYRACUSE, NEW YORK 13202
(315) 422-3990
(315) 422-3995