

*Dentists report
discovery dgo*

IN THE COURT OF COMMON PLEAS
OF CUYAHOGA COUNTY, OHIO

Doc. 315

Karla Spehar, a minor
etc., et al.,

Plaintiffs,

vs.

Case No. 157883

Jeffrey J. Orchen, DDS,
Inc., et al.,

Defendants.

- - - - -

Deposition of DENNIS McTIGUE, DDS, a
witness herein, called by the Defendant for
direct examination under the statute, taken
before me, Kathryn E. Smith, a Registered
Professional Reporter and Notary Public in and
for the State of Ohio, by agreement of counsel
and without notice or other legal formality,
at the Ohio State University College of
Dentistry, 305 West 12th Avenue, Columbus,
Ohio, on Tuesday, November 13, 1990, at 8:40
o'clock a.m.

- - - - -

1 **APPEARANCES:**

2 Ziegler, Metzger & Miller
3 1900 Huntington Building
4 Cleveland, Ohio 44115
5 By Mr. Timothy Bittel,

6 On behalf of the Plaintiffs.

7 Weston, Hurd, Fallon,
8 Paisley, Howley
9 2500 Terminal Tower
10 Cleveland, Ohio 44113
11 By Ms. Deirdre G. Henry,

12 On behalf of Dr. Orchen, DDS,
13 individually and
14 Dr. Orchen, DDS, Inc.

15 Baker & Hostetler
16 3200 National City Center
17 Cleveland, Ohio 44114
18 By Mr. Patrick Jordan,

19 On behalf of the Defendant,
20 Sherwood Medical Co.

1 Tuesday Morning Session

2 November 13, 1990

3 8:40 o'clock a.m.

4 - - - - -

5 It is stipulated by and between
6 counsel for the respective parties that the
7 deposition of DENNIS McTIGUE, DDS, a witness
8 herein, called by the Defendant for direct
9 examination under the statute, may be taken at
10 this time by the Notary, by agreement of
11 counsel without notice or other legal
12 formality; that said deposition may be reduced
13 to writing in stenotypy by the Notary, whose
14 notes may thereafter be transcribed out of the
15 presence of the witness; that proof of the
16 official character and qualification of the
17 Notary is waived; that the signature of the
18 said DENNIS McTIGUE, DDS to the transcript of
19 his deposition is expressly waived by counsel
20 and the witness; said deposition to have the
21 same force and effect as though signed by the
22 **said** DENNIS McTIGUE, DDS.

23 - - - - -

INDEX

	<u>Examination By</u>	<u>Page No.</u>
2		
3	Mr. Bittel	5
4	Mr. Jordan	44 → <i>heavens</i>
5	Mr. Bittel	92
6	Mr. Jordan	97 → <i>heavens</i>
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		

1 DENNIS McTIGUE, DDS
2 by me first duly sworn, as hereinafter
3 certified, deposes and says as follows:

4 MR. BITTEL: Maybe we should
5 indicate for the record that we have assumed
6 that we are just going to go forward. Mr.
7 Yordan is not here. And he can -- I don't
8 think we'll have a problem, right?

9 MS. HENRY: I don't think so since
10 we have a time parameter.

11 - - - - -

12 CROSS-EXAMINATION

13 BY MR. BITTEL:

14 Q. Doctor, good morning, my name is Tim
15 Bittel, I represent the plaintiff, Karla
16 Spehar. I think you know that, right?

17 A. Right.

18 Q. I understand you are going to
19 provide some expert opinions on behalf of Dr.
20 Orchen in this case.

21 A. That's correct.

22 Q. You are the chairman of the
23 pediatric dentistry department here at Ohio
24 State?

1 A. That's right.

2 Q. Is it true that you are not going to
3 express any opinions concerning malignant
4 hypothermia?

5 A. That's true.

6 Q. Is it true that you are not going to
7 1 express any opinions concerning the level of,
8 concerning the actions of Dr. Indresano?

9 A. Right.

10 Q. Are you going to express any
11 opinions concerning the standard of practice
12 that an oral surgeon is supposed to follow in
13 the removal of a dental needle fragment broken
14 off in someone's gum?

15 A. No.

16 Q. Is it my understanding that in your
17 16 years of clinical practice and teaching
18 you've never had a needle break or known of an
19 incident breaking, incident where a dental
20 needle broke?

21 A. That's correct. Directly in any
22 clinic that I was working or associated with.

23 Q. Have you heard some anecdotal events
24 about needle breaking?

1 A. To be perfectly honest, I haven't.
2 I would -- I mean I can harken back to my
3 dental school days and hear of people talking
4 about them happening prior to the advent of
5 disposable needles. I don't have any direct
6 involvement. I've never talked with a
7 pediatric dentist when we meet and go to
8 meetings and things. Obviously there are
9 problems discussed in cases, and a broken
10 needle is not one that I've ever encountered.
11 Q. Would it therefore be your opinion
12 that a broken dental needle is a very rare
13 occurrence?

14 A. Yes.

15 Q. Would you agree that where a patient
16 does not move or jerk suddenly so as to cause
17 the needle to break and where no unusually
18 fibrotic tissue is encountered, there are only
19 two reasons for a dental needle to break in
20 use: one being a defectively manufactured
21 needle or second being an improper technique
22 by the dentist making the injection?

23 A. It's a hard question to answer,
24 Tim. Improper technique in giving the

1 injection to cause a needle to break, it's a
2 hard thing to imagine for me. My experience
3 has been in treating young children,
4 uncooperative children, and particularly in
5 treating handicapped children and adults,
6 maybe mentally retarded people who at times
7 have been quite uncooperative, you know, they
8 don't understand what's happening.

9 So I've had many occasions where
10 I've given injections where the patient has
11 moved and I would have to say that in that
12 case, my technique wasn't the greatest because
13 of the patient moving in the middle of it and
14 having had it happen. What I'm trying to tell
15 you is that if, to break a needle would be a
16 very difficult thing to do. If you were
17 trying to use a technique that was wrong to
18 break a needle it would be a difficult thing
19 to do.

20 Q. I'm not talking about handicap
21 people and specifically in the Karla Spehar
22 case.

23 From what you have seen, am I
24 correct in saying that you know all of the

1 evidence in the case, that she was a
2 cooperative patient, that she did not move or
3 jerk?

4 : A. That's what I read.

5 1 Q. Assuming that a patient, such as
6 Karla Spehar was cooperative, was still, did
7 1 not jerk or move abruptly and that the dentist
8 did not encounter unusually fibrotic tissue,
9 under those assumptions, would you agree that
10 you can only conceive of two possible reasons
11 for a dental needle to break, one being a
12 defective needle or two, being some improper
13 technique by the dentist?

14 A. Again, I'm going to have to tell
15 you, I don't know what the improper technique
16 by the dentist would be, I've never
17 encountered that.

18 Q. Under those circumstances, what
19 would be your opinion as to the needle
20 breakage?

21 A. In my opinion, a needle had to be
22 defective. If this child was a cooperative
23 child and he utilized the technique which he
24 described, which is the classic way to, you

1 know, to do a mandibular injection, if he did
2 that, and she didn't resist or anything, I
3 can't imagine anything other than a defective
4 needle causing to break, I can't imagine how
5 that would happen.

6 Q. So the answer to my question about
7 there being two reasons for a needle to break
8 in the case where a patient like Karla was
9 still and cooperative and the dentist didn't
10 encounter unusually fibrotic tissue, the answer
11 is that you can only conceive the one reason,
12 the defective needle?

13 A. To be perfectly honest, that would
14 be true. Because I can't imagine what the
15 improper technique would be to cause that
16 thing to break.

17 Q. What is your understanding as to how
18 many times, your factual understanding as to
19 how many times Dr. Orchen palpated the area
20 where the needle broke off?

21 A. I would have to re-read his
22 deposition, Tim. If you can brief me, that
23 would be easier than my trying to remember.

24 Q. That's all right. I'll go on and

1 we'll get to it. If Dr. Orchen, if the
2 sequence were as follows: Dr. Orchen makes
3 his injection, the needle breaks off and if
4 immediately after the time the needle broke
5 off, he palpates the area and says that he can
6 feel the needle, would that, in your opinion,
7 be an appropriate time for him to attempt to
8 retrieve the broken needle fragment?

9 A. Why don't you repeat that. I was
10 distracted.

11 MS. HENRY: Let's stop.

12 (Discussion off the record.)

13 (Mr. Jordan has entered the room.)

14 BY MR. BITTEL:

15 Q. Doctor, I will just restate the
16 question. If the sequence in this event has
17 the needle breaking and then if Dr. Orchen,
18 immediately after the needle breakage,
19 palpated the area and verbalized and said that
20 he could feel the needle fragment, in your
21 opinion, would that have been an appropriate
22 time for him to attempt the removal of the
23 needle fragment?

24 A. The needle separates, he feels it,

1 when he goes back there to feel it, he feels
2 it?

3 Q. Yes, sir.

4 A. Sure, that would be the appropriate
5 time.

6 Q. If he said he could feel it,
7 immediately after the needle broke off, but
8 removed his finger from palpating the area and
9 proceeded then to do the tooth restoration,
10 would that have been an inappropriate action?

11 A. If he felt it and decided to leave
12 it and go prepare the tooth, would it have
13 been inappropriate?

14 Q. Yes, sir.

15 A. I think it would have been contrary
16 to common sense. It's, good clinical common
17 sense would dictate, if you can feel it and
18 it's there, that would be the time to take it
19 out.

20 Q. Would it have been contrary to the
21 standard of practice in dealing with this rare
22 situation?

23 A. You see that's an interesting
24 point. When you say standard of practice, one

1 could infer that, that things have been
2 written or documented and that there's a
3 protocol published and well referenced
4 regarding, you know, taking out needles and
5 there isn't ones that are sitting there.

6 But I'm just, so all I can tell you
7 is that it would be contrary to good clinical
8 judgment to break a needle and to be able to
9 feel it and to not take it out immediately.

10 Q. And the reason for that is because
11 under that hypothetical situation, and if he
12 could feel it immediately, he would have
13 presumably had the highest probability of
14 getting it recovered at that time?

15 A. Sure, it would be the easiest time
16 to get it.

17 Q. Okay. You indicated in your report
18 that he made, he did not make much
19 manipulation. I believe those are the words
20 that you used.

21 MS. HENRY: Tim, could you site him
22 to the part?

23 MR. BITTEL: Sure.

24 Q. Doctor, it's on the first page, the

you know
Orlando
P 46 not
sound fine

21 25
59 25

1 very last paragraph, the third line up from
2 the bottom, he tried to find the needle
3 without much manipulation of tissue and
4 failing that --

5 A. This is after he made the incision?

6 Q. Yes, sir.

7 MS. HENRY: After he proceeded to --
8 are we talking about the manipulation he did
9 initially when he thought he felt the needle
10 and then proceeded to care for the tooth and
11 then later went back, is that what we are
12 talking about?

13 MR. BITTEL: That's what I was going
14 to ask.

15 MS. HENRY: Okay.

16 BY MR. BITTEL:

17 Q. Where you talk about manipulation,
18 do you refer there to the manipulation within
19 the incision that Dr. Orchen made?

20 A. He then removed it and made a small
21 incision where he thought the needle entered,
22 he tried to find the needle without much
23 manipulation of the tissue. My recollection
24 is that he said he was careful, that he -- I

1 would have to paraphrase it. What I got from
2 that is he made an incision and then gently
3 tried to find it to see if it was right
4 there. That was my impression from reading
5 the deposition.

6 In surgical technique, depending on
7 who the surgeon is and what the technique is,
8 you might very aggressively lay tissues back,
9 if you got to get way over there and you are
10 way **up** here superficially, you may move
11 tissues apart rapidly and get down there,

12 My impression from his deposition
13 was he made this incision and was quite ginger
14 in trying to detect the needle. And it didn't
15 appear to me that he did a very aggressive
16 manipulation of the tissues. My impression
17 was that he was trying to see if it wasn't
18 right submucosal, right under the tissue. And
19 that finding that it wasn't right there, he
20 didn't do much else. He just took the child
21 to the oral surgeon. That's how I interpreted
22 it.

23 Q. Whose depositions do you have copies
24 of there?

1 A. I have Dr. Hauser's and Dr.
2 Orchen's.

3 Q. If I were -- strike that.

4 My understanding is that Dr. Orchen
5 says he made an incision about, of about a
6 half an inch; is that your understanding?

7 A. That's what I remember reading.

8 Q. If Dr. Orchen explored within that
9 half inch incision for five minutes with a
10 hemostat, would that be, in your opinion, an
11 excessive amount of exploration in that small
12 incision?

13 A. Well, I guess the amount of time
14 doesn't really have anything to do with how
15 much you manipulate the tissues. I mean you
16 could sit and look for five minutes and not
17 even touch it. It would be more, a better
18 indicator would be how deep, you know, he
19 proceeded with the instruments, not really how
20 much time he looked at the incision. That
21 certainly isn't a very long time to fool with
22 it.

23 Q. Okay.

24 A. He couldn't have done very much in

1 five minutes. My impression was he wasn't
2 very aggressive; he didn't go very deep; he
3 just looked submucosal.

4 Q. Okay. Dr. Indresano has indicated
5 to us that when he saw the, saw Karla, the
6 needle had migrated to approximately three
7 centimeters below the surface of her gum
8 tissue. If that's true, would you agree that
9 it is probable that Dr. Orchen's surgical
10 attempt to remove and manipulation of the area
11 caused the migration of the needle?

12 MS. HENRY: Objection. I wouldn't
13 call it surgical.

14 Q. Well, his incision.

15 A. No, I would not agree. You said 30
16 millimeters?

17 Q. No, sir. Three centimeters.

18 A. That's 30 millimeters.

19 Q. All right.

20 A. Three centimeters is over an inch.

21 **And** I would not agree. In fact, I would
22 disagree strongly with that. From what I read
23 of what Dr. Orchen did, it sounded like a very
24 gentle manipulation of the tissues. To push

1 something an inch or inch and a half into the
2 tissues would be a force that would be
3 different than what I read in, from what Dr.
4 Orchen did.

5 Q. Well, if the sequence in this event
6 is that the needle breaks off; Dr. Orchen
7 manipulates the area twice with his digits;
8 he does the tooth restoration --

9 A. He feels for it?

10 Q. Yes, sir. He feels for it twice;
11 he does the tooth restoration; he makes the
12 half inch incision and he palpates the, excuse
13 me, yeah, he palpates with the hemostat. And
14 that she's then taken to Dr. Callahan in the
15 building who does no technique other than to
16 look at it, and I believe he indicated he ran
17 his finger gently down the gum line. Dr.
18 Callahan takes an x-ray and then they refer
19 her to Indresano at Metro. Under those
20 circumstances, would you agree that there's no
21 other force being applied to this girl that
22 would cause the needle to migrate three
23 centimeters?

24 A. No other force?

1 **a.** Yes, sir.

2 MS. HENRY: Objection.

3 A. I don't know.

4 **Q.** Under that hypothetical. Obviously
5 you are not a fact witness.

6 A. Well, I think the issue there is the
7 migration of the needle is a function of the
8 movement of the child's mandible and the
9 muscles that insert there. And it could be.
10 And I don't know, I don't know really anything
11 about what causes a needle to move through
12 tissues. But it is certainly reasonable that
13 the normal function there could have caused
14 migration of the needles.

15 The muscle that inserts on the
16 mandible right where the injection is given is
17 a muscle that's very active in the opening and
18 closing **of** the mandible, of the jaw. So I
19 mean there's an awful lot of activity in that
20 **are-a.** And I mean it's reasonable to assume
21 that could have caused it as well.

22 **Q.** Maybe I'm asking you questions
23 frankly that are beyond your expertise. You
24 said that you don't know anything about what

1 causes needles to migrate. Is it therefore
2 true that you don't have any expert opinions
3 about what caused the needle to migrate in the
4 Karla Spehar case?

5 A. Yeah, I think that's true.

6 Q. Okay. Do you consider the breaking
7 of the dental needle in the Karla Spehar case
8 to be a dental emergency?

9 A. No.

10 Q. Therefore, it was appropriate for
11 Dr. Orchen to take the time to do the
12 restoration as he did?

13 A. I think he exercised good clinical
14 judgment in doing that.

15 Q. You read Dr. Orchen's deposition, do
16 you understand that he has testified that at
17 the time the Karla Spehar event took place, he
18 had never encountered a broken needle and he
19 had no experience in removing a broken needle,
20 do you understand that to be a fact?

21 A. Yes.

22 Q. If I asked you further to assume
23 that a mother was sitting in the waiting room
24 while Dr. Orchen was working on Karla, and if

1 this was not a dental emergency and Dr. Orchen
2 had the time to do the tooth restoration,
3 would you agree that the exercise of pediatric
4 dentistry within the appropriate standard of
5 care would have required and did require Dr.
6 Orchen to consult the mother, to tell her that
7 this rare event had taken place, namely the
8 needle breakage, to tell her that he had never
9 encountered this, to tell her that he had
10 never attempted a needle removal, a broken
11 needle removal, and to obtain her consent for
12 the further treatment that he undertook?

13 MS. HENRY: Are we talking about
14 completing the restoration or what are we
15 talking about, further treatment?

16 Q. Let me rephrase the question. Under
17 the circumstances of Karla Spehar, we've
18 agreed that this is a rare event, correct?

19 A. Yes.

20 Q. -- And assume that Dr. Orchen never
21 encountered a broken dental needle before,
22 assume that he had never had any experience in
23 removing a dental needle, assume that this was
24 not, as, according to your opinion, a dental

1 | emergency and assume that he did have
2 | sufficient time to go ahead and complete the
3 | restoration, under those circumstances, would
4 | you agree with me that the proper standard of
5 | pediatric dentistry required Dr. Orchen to
6 | make those disclosures to Mrs. Spehar who was
7 | in the waiting room and to obtain her consent
8 | prior to the time he undertook an attempt to
9 | remove the needle?

10 | A. Well, it's more, I don't think that
11 | can be answered with a yes or no, Tim. And
12 | the reason is the principle that one should
13 | follow at that time, I think, is the best care
14 | for the child. He had time to do the
15 | restoration. And as I said before, I think
16 | that was a prudent thing for him to do. He
17 | did that without leaving the child's side.
18 | And he was able to control that child the
19 | whole time.

20 | This is the child's initial
21 | restorative appointment, meaning the first
22 | time the child had ever had an injection and
23 | he had control of her physically and he had,
24 | emotionally had her under control as well.

1 And if he, at the time, felt that leaving her
2 to go out, you know, Just to leave her to go
3 out and talk to mom would put her at risk for
4 starting to cry or to move around or do
5 something like that and make it more difficult
6 for him to retrieve it, then I can understand
7 why he made the incision that he did.

8 The other thing is that the extent
9 of treatment that he provided was minimal. I
10 mean he made a superficial incision. And from
11 my understanding, didn't manipulate the
12 tissues much. And if he had made a lengthy
13 incision and was laying flaps back and all
14 that sort of thing, it would be a different
15 thing.

16 But I think that what he did was
17 within the standard of practice. He made a
18 clinical Judgment based on the child's
19 behavior and the situation at the time.

20 Q. So your answer is that he didn't
21 have to make those disclosures to the mother
22 to practice within the standard of care?

23 MS. HENRY: Before he completed
24 them?

1 Q. Before he made the incision and an
2 attempt to remove the needle.

3 A. My answer is that, you know, I
4 cannot guess what was going on in the
5 operating room, in the operatory at the time.
6 But that if he felt that leaving the operatory
7 and leaving that child, if that would, if that
8 would have contributed to her becoming less
9 cooperative or something, he would break the
10 communication he had with her; if he felt
11 that, then I would agree that his staying in
12 the operatory and doing the procedure that he
13 did was within the standard of care.

14 The issue is, would be a different
15 one if we were talking about an adult or older
16 child. And this is something that hasn't been
17 factored into it. I've seen it. This is a
18 rookie three-year-old, who, he demonstrated
19 good technique in managing her behavior. And
20 there's a risk incurred if he leaves the
21 child's side.

22 If he felt that her behavior could
23 have changed by his leaving, then I think he
24 stayed within the standard of care by staying

1 with her.

2 Q. My understanding from your report,
3 I'm on the second page now, the second full
4 paragraph is that students were cautioned to
5 use long needles and not to inject to the hub
6 to facilitate retrieval of broken fragment. I
7 quote that. That's part of your report,
8 correct?

9 A. Students were cautioned to use long
10 needles and not to inject them -- yes.

11 Q. Is it your --

12 MS. HENRY: Twenty years ago,
13 right?

14 Q. That's what I'm going to ask. It's
15 your testimony that at some time in the past
16 students were taught not to inject needles to
17 the hub. And to use long needles, so that if
18 a needle broke off then the fragment could be
19 easily retrieved; is that right?

20 A. -- Surely they were then. I'm not
21 saying that they are not taught that now. I'm
22 saying that it was a more pertinent issue when
23 there was a greater risk, when it was a
24 greater occurrence. Then there was more

1 attention, probably more curriculum time spent
2 on teaching people the technique.

3 Q. Well, what is taught now concerning
4 whether a needle should be injected all the
5 way to the hub?

6 A. I don't give the lecture on
7 anesthetic technique, though we do lecture to
8 students about technique with children.

9 Frankly, we don't spend an awful lot
10 of time talking about it to tell you that we
11 tell them do not inject to the hub. Routinely
12 that doesn't come up. We tell them how to
13 give the injection. And under normal
14 circumstances, you don't need to inject to the
15 hub.

16 But if you are asking me do we get
17 up in front of the class and say now be
18 careful, don't inject to the hub because if
19 you break off the needle you'll have a hard
20 time removing it, no, we do not teach that.

21 Q. Will you agree that the current
22 standard of dental practice and standard which
23 applied in 1987, October provided that
24 ordinarily a pediatric dentist would not

1 inject a needle all the way to the hub?

2 A. Again, you keep saying standard of
3 practice. In clinical practice, based on
4 what, you know, you confront clinically, you
5 inject the needle to the correct position and
6 deposit the solution. And ordinarily you
7 don't need to take a needle to the hub.

8 But certainly there are occasions
9 that do occur where you do take the needle to
10 the hub. And you don't sit there and say
11 gosh, I'm going to have to go to the hub on
12 this needle, let me take it out and put on a
13 longer needle and reinject this child so I
14 don't take it to the hub because I'm afraid it
15 might break. That is not a consideration
16 clinically. So you choose a needle that you
17 use consistently and if on occasion it goes to
18 the hub, and that's not a very frequent
19 occasion, but if an occasion occurs, it does.

20 And I would suggest that most
21 pediatric dentists have done that. It's not
22 unusual. I mean it's not unheard of, let me
23 say that. And it's not in violation of the
24 standard of practice to inject to the hub

1 because you are afraid the needle will break.

2 Q. I guess the, what I'm having a
3 problem with, an issue in my own mind with, is
4 that I understand that there appears to be a
5 change in teaching evolved over say, the last
6 20 years or so, where about 20 years ago,
7 approximately, it was commonly taught that you
8 use long needles for mandibular blocks and
9 that you don't inject to the hub, both
10 techniques in order to facilitate retrieval of
11 a broken fragment; is that true?

12 A. I think that probably then it was
13 true and it was a realistic concern. When
14 needles were breaking those issues were
15 pertinent.

16 Q. Okay. And you use the word, or the
17 phrase "realistic concern" now, and in your
18 report. Is it your understanding, again, as a
19 clinician and as a professor who teaches
20 pediatric dentists, is it your understanding
21 that the breakage of needles, dental needles
22 in use is not a realistic concern in today's
23 dental environment?

24 A. It's a very rare occurrence. It's

1 something that people go through their
2 lifetimes without having occur It's not
3 something that we, it's not something that we
4 spend a lot of time worrying about It just
5 doesn't happen very frequently

6 Q From your experience, again as a
7 clinician and as a professor, are the Sherwood
8 Monject needles the ones that you most
9 typically use and encounter in the practice?

10 A Yes.

11 Q As a professor and clinician of
12 pediatric dentistry, would it be -- I take it
13 there would be some newer or broken needles
14 parents in a given time period, for example, a
15 year, that would make it important for you to
16 believe that needle breakage was a realistic
17 concern in use; is that true?

18 MS XENRY: Could I hear that
19 question back again?

20 Q. Let me rephrase it. Your opinion is
21 that needle breakage is not a realistic
22 concern in today's dental practice?

23 A. When you say "realistic concern,"
24 let me say that it's not a frequent occurrence

1 and it's nothing that, it's nothing that we
2 spend a lot of time teaching our students
3 about, our dental students or pediatric dental
4 residents about.

5 Q. Okay.

6 A. Let me put it in terms of curriculum
7 time then. It's a rare occurrence. There are
8 many very rare things that, pathologic
9 situations, traumatic injuries, things like
10 that that occur over a population over a
11 period of time. And in a curriculum, you
12 don't teach, you can't pick out all the most
13 rare things to teach about. You teach and you
14 practice to deal with those problems that
15 occur regularly. So needle breakage is just
16 something that is rare and as you've seen,
17 many, many practitioners today don't
18 encounter.

19 Q. But needle usage is very, very
20 common?

21 A. Sure. Absolutely.

22 Q. In fact, it's essential for every
23 dental procedure, other than simple
24 prophylaxis, hypodermic dental needles are

1 used?

2 A. That's not exactly true.
3 Particularly for pediatric dentists. There
4 are things that are done. For restorative
5 sorts of visits, surely.

6 Q. All I'm trying to understand is
7 this: There, I presume there must be some
8 frequency of needle breakages that would
9 change your opinion as a professor as to what
10 you should be teaching student dentists.

11 A. Sure. There is some threshold. And
12 if there were a rash of needle breakages, if
13 there was a rash of new disease or new
14 traumatic injuries or things like that, then
15 we would say this is an issue that's merited
16 enough importance to really emphasize in our
17 training programs. Sure, that's common sense.

18 Q. Now if I were to tell you that as an
19 example, Sherwood had five reports of needle
20 breakages in a given year, would that be
21 significant to know as a professor of
22 dentistry?

23 A. I would need more data, Tim. That
24 doesn't mean much. I don't know how many

1 needles they sell. If you told me how many
2 injections were given, and then how many
3 needles broke on those injections, then I
4 could make a better guess, But I guess that
5 certainly didn't impress me as a very large
6 number, given the number of injections that
7 are given in a year.

8 Q. What needle do you teach students to
9 use here for mandibular blocks on children?

10 A. Twenty-seven-gauge short, about one
11 inch.

12 Q. Have you ever used any other needle,
13 you personally, for mandibular block on
14 children?

15 A. I've used other needles. When you
16 say "children," I guess it basically depends
17 on the size of the patient. I would routinely
18 use a long needle, inch and a quarter needle
19 on a large adolescent, teenager sort of
20 thing. But in kids that are kind of, that are
21 smaller than that, we use 27-gauge shorts.

22 Q. What's the risk of using the
23 27-gauge long on children?

24 A. The risk, I think it's a matter of

1 preference. It's a longer needle, **so** you
2 have, you have less control intraorally. If
3 it's shorter, you have a little bit more
4 ability to move it around and not have it
5 standing out as far. There may be a
6 psychological component to the length of the
7 needle. I probably wouldn't buy that too
8 much. It's a matter of control. It's safe
9 and effective.

10 Q. I didn't mean to cut you off.

11 A. It's just safe and effective. It's
12 plenty long enough to do what we need to do
13 with it. It's a matter of preference, Tim.

14 Q. Okay.

15 A. It's the needle that we teach here
16 and it's the needle that we used at LSU for
17 kids.

18 Q. Are you familiar with any written
19 standard that would suggest that a 27-gauge
20 short needle be used for mandibular blocks on
21 children?

22 A. Well, it's in textbooks.

23 Q. That's what I'm asking you. Can you
24 reference me to a textbook that says you

1 should use a 27-gauge short needle for
2 mandibular block?

3 A. I can reference you to several
4 It's a. I'm going to tell you several times
5 that it's a matter of opinion. That is a book
6 by Dick Matheson, Fundamentals of Pediatric
7 Dentistry. It's is second edition.

8 Q What's the year of that?

9 A That is '87. The most acceptable
10 prearranged disposable needle to the
11 pediatric patient is the 27-gauge. 25-
12 millimeter length. That's the short. Not
13 only is it well suited for the infiltration
14 techniques employed. But its length makes it
15 acceptable for the inferior alveolar block
16 procedure. That's mandibular injection.

17 We go on to say that Sanders
18 advocates use of a 25-gauge short needle for
19 this purpose. Believing the larger diameter is
20 more effective. It is therefore, a matter of
21 personal choice as to size preference.

22 Interesting we wrote a textbook.

23 Several of us were, and we don't even
24 reference the size of the needle in it.

1 Q. I read it last night.

2 A. I looked at it and I thought my
3 gracious, we didn't even put it in there. I
4 guess it's because whether you use a 25-gauge
5 or 27-gauge long or short, there's really no
6 science to dictate what is -- there are
7 several others here that say the same thing.

8 This is a book by Steven Wei, '88,
9 Pediatric Dentistry Total Patient Care. The
10 most common gauge needles used in dentistry
11 are 25, 27 and 30, and the two most common
12 lengths are 1 inch and 1 1/2 inches. Long
13 needles, 1 1/2 to 1 5/8, are frequently
14 recommended for the inferior nerve block
15 anesthesia. Again, that's mandibular.

16 However, the clinical experience has
17 shown that short or one inch needles are
18 adequate and safe for nerve block anesthesia
19 in children and are especially recommended for
20 use in young, difficult to manage children.
21 Then others will say use 25- or 27-gauge long
22 or short.

23 Q. So it's a matter of opinion by the
24 practitioner?

1 A. Yes. And I would tell you that I
2 think somebody is practicing within a general
3 standard of care if they use any of those.
4 Now if you have someone who is using a 23 -
5 gauge needle, I would have to be surprised
6 about that. You can find a lot of people
7 using needles.

8 Q. On the textbook, you wrote several
9 chapters in Pediatric Dentistry, Infancy
10 Through Adolescence; is that true?

11 A. I wrote them and then I edited a
12 section.

13 Q. Which section did you edit?

14 A. Let me see what I did. I think it's
15 called Section 2, The Primary Dentitioners.
16 Section 2, The Primary Dentitioners, so that
17 included Chapters 16, 17 -- Chapters 16
18 through 27 I edited. And that meant that I
19 basically stayed in contact with the authors
20 and kept them on line and, time line and all
21 that. I didn't have any creative effort in a
22 lot of those chapters.

23 Q. Do you use in your practice magnetic
24 resonance imaging?

1

A No.

2

Q. As far as what is, and I think we

3

covered this before, I want to make clear

4

though, as far as what is the accepted

5

protocol for the removal of a broken dental

6

needle by an oral surgeon, you are not

7

expressing an opinion on that; is that true?

8

A. That's correct.

9

Q. Have you been involved -- strike

10

that.

11

You wrote some chapters on dental

12

trauma in this pediatric dentistry book.

13

A. That's correct.

14

Q. Have you personally been involved as

15

a clinician in treating children who have been

16

involved in various dental traumas?

17

A. Many times.

18

Q. And you describe in your book the

19

techniques for treating various traumas.

20

including the removal of broken tooth

21

fragments in the lips, you deal with that?

22

A. Yes.

23

Q. Is there, have you updated this

24

book? This is sort of recent, it's 1988 was

1 this been updated at all since the
2 publication?

3 A. No. That's the most recent issue.

4 Q. The standards of practice that you
5 write about in Chapter 14 styled as
6 Introduction To Dental Trauma, Managing
7 Traumatic Injuries In The Primary Dentation --

8 A. What page are you on?

9 Q. I'm just asking about the chapters,
10 the standards of practice that you discussed
11 in Chapter 14 of this book, and also in
12 Chapter 33 called Managing Traumatic Injuries
13 In The Young Permanent Dentation, are those
14 still accurate to the best of your knowledge?

15 A. Right. There hasn't been an awful
16 lot changing in this.

17 Q. The techniques that you describe in
18 those chapters are the techniques that you
19 believe are applicable to be followed by a
20 pediatricist today and in 1987?

21 A. I don't think there's anything in
22 here that was that controversial, that things
23 have changed that much. Maybe restorations of
24 teeth, there's some new materials out that

1 come out all the time that maybe we would
2 update. I would say by and large, this is
3 still pretty current. It's kind of general
4 stuff.

5 Q. And further, what I'm trying to
6 determine, this book was published in 1988.
7 I'm assuming that you would have been writing
8 it in 1987; is that true?

9 A. Yes.

10 Q. The standards that you talk about,
11 and particularly in those two chapters that
12 you wrote, Chapters 14 and 33, they would have
13 been applicable standards of practice in 1987
14 also; is that true?

15 A. Yes. I think that the terminology
16 has changed on some things in that Chapter 14
17 as I look at it. But it's pretty general
18 stuff. The textbook is, it's difficult --
19 well, obviously it's published once and it's
20 gone. But the stuff that we wrote about in
21 these chapters is pretty general. I don't
22 think it's changed that much. It's materially
23 the same.

24 Q. Specifically the suggestions and

1 recommendations that you made for the use of
2 radiographic examinations in those two
3 chapters are still appropriate and were
4 appropriate as a standard of care in 1987; is
5 that true?

6 A. Yeah, I think so, Tim, I think so.
7 Nothing has changed significantly.

8 Q. Would you agree that if a long
9 27-gauge needle were used in a mandibular
10 block, there would be a higher probability
11 that in the unlikely event of breakage it
12 could be retrieved easily?

13 A. I don't have any way of knowing if
14 it could be retrieved easily. I don't know,
15 it depends on where it breaks, I guess.

16 Q. Do you have an opinion as to whether
17 or not, if a dental needle breaks, they
18 typically break at the hub or don't you know?

19 A. I wouldn't, I've read this stuff and
20 I've read that it says the hub, but I don't
21 have any direct knowledge. And I haven't read
22 any studies that have done stress tests on
23 them to show you. I can tell you that I've
24 read the depositions that somebody said

1 |
1 | somewhere along the line --

2 | Q. I'm not asking you to adopt the
3 | other people's testimony unless you want to
4 | and you think it's true.

5 | A. I don't have enough knowledge to do
6 | that, It would make common sense to me, but I
7 | don't know much about that.

8 | Q. Does it follow and is it true
9 | therefore, that you don't have an opinion as
10 | to whether or not if dental needles break,
11 | they would typically break at the hub, an
12 | independent professional opinion?

13 | A. It would be a guess. I would just,
14 | I would guess that that's the obvious spot
15 | where they would break but I don't have any
16 | data to show you that. That's just a
17 | supposition on my part.

18 | Q. Before -- strike that.

19 | Are you at all familiar with the ADA
20 | ANSI Standard No, 54?

21 | A. Well, I've seen it now. I wasn't
22 | familiar with it before I was, I became
23 | involved with this case.

24 | Q. So the first time you saw that

1 standard was in connection with this case?

2 A. That's correct.

3 Q. I understood that that was published
4 in the ADA journal. Did you have that
5 understanding also or are you just neutral on
6 that?

7 A. I'm neutral. If somebody said it
8 is, I'm sure it was.

9 Q. Would you describe for me what is
10 the proper technique that is to be followed by
11 a pediatric dentist in making a mandibular
12 block injection on a patient like Karla
13 Spehar?

14 A. Well --

15 Q. Do you know, I mean do you have an
16 opinion about it?

17 A. I guess I could probably struggle
18 through it if I had to. Of course, I know. I
19 mean if you want me to read you the textbook,
20 we wrote it.

21 Q. Or is it just what's in your
22 textbook?

23 A. The precise technique is written in
24 the textbook and I haven't read the technique

1 recently.

2 Q. I am not trying to prolong this
3 deposition. If the technique --

4 A. Why don't you ask me what you want
5 to know about the technique.

6 Q. Is the technique what is described
7 in your textbook?

8 A. I would have to read this. If I
9 knew where you were coming from, I could
10 answer your question more directly. There are
11 emotional components of giving injections to
12 children that don't, that I don't think we
13 dealt with well in this chapter. And that is,
14 it starts on page 317 and I'm the one that's
15 giving those injections there and that's my
16 daughter that I am doing them to. Let me
17 read. The injection technique is figure 27.7
18 on page 322 --

19 Q. Let me just ask you this because we
20 are all working under a tight time
21 constraint. If you say the injection
22 technique is as described here beginning on
23 page --

24 A. Well, 27.7 describes the inferior

1 aveolar block on page 322.

2 Q. And there's text underneath that
3 that also describes that.

4 A. That's correct. I don't think we
5 described the block in the body of the text.
6 I think it's only in that figure, I guess
7 that's how we did it.

8 Q. I'll accept that, that's fine.

9 A. There is a little bit on page 321
10 that relates more to the position of the
11 foramen. I guess there is some stuff in the
12 body of the text. Yeah, that's going to give
13 you more detail than I can tell you off the
14 top of my head.

15 MR. BITTEL: Doctor, I don't have
16 any further questions.

17 MS. HENRY: Would you guys mind if
18 we took a ten-minute break before we started?

19 (Short recess taken.)

20 - - - - -

21 CROSS-EXAMINATION

22 BY MR. JORDAN:

23 Q. Good morning. If I ask you any
24 questions you don't understand, please stop me

1 and ask me to clarify.

2 A. I sure will.

3 Q. How did you become aware of what the
4 standard of care is in the dentistry field for
5 treatment after a broken needle has occurred?

6 A. I guess I would say that I, just
7 reading the depositions is the first time that
8 I really have gotten much into it. I reviewed
9 some of the literature that I have got
10 available to me.

11 Q. What literature did you review?

12 MS. HENRY: Before we go on here,
13 Pat, are you talking about what an oral
14 surgeon does?

15 MR. JORDAN: I'm just asking him
16 what he knows.

17 MS. HENRY: Wait a minute. Let me
18 just tell you this: I think we forgot to tell
19 you. Before you came in, he's not rendering
20 an opinion on the standard of care for oral
21 surgeons, for the care of Dr. Indersano, for
22 the care of the removal of dental needles by
23 an oral surgeon. Is there anything else, Tim,
24 that we missed?

1 MR. BITTEL: Those are the three
2 things. Malignant hypothermia, Dr.

3 Indersano, or the standard of care for the
4 removal of dental needles by an oral surgeon.

5 MS. HENRY: Does that clarify
6 anything for you?

7 MR. JORDAN: That's an additional
8 piece of information that's nice to know.

9 THE WITNESS: I don't know much
10 beyond that.

11 MR. JORDAN:

12 Q. What literature did you review?

13 A. I think I looked in a couple of
14 these pediatric dental textbooks, but there
15 isn't much that talks about the techniques of
16 removing needles. I didn't go to the library
17 and such.

18 Q. Did you look at any case studies?

19 A. No.

20 Q. I take it you have no personal
21 experience in dealing with a patient who has
22 had a needle break off?

23 A. That's correct.

24 Q. Have you talked to any colleagues

1 | who have had instances where needles have
2 | broken off in one of their patients?

3 | A. I don't know of anybody that it ever
4 | has, it's never come up.

5 | Q. What dental needles are used at the
6 | Ohio State Dental School?

7 | A. I think that everybody -- I can tell
8 | you what we buy and I think they are used
9 | throughout the school and those are monoject
10 | needles.

11 | Q. It's your understanding that
12 | monojects are made by the company I represent,
13 | Sherwood Medical?

14 | A. That's correct, right.

15 | Q. Now do you buy all different sizes
16 | of the monoject, the 23 through the 30?

17 | A. Do I?

18 | Q. Yes.

19 | A. We buy -- no, no. We buy 27- and
20 | 30-gauge.

21 | Q. Both long and short?

22 | A. We have 27-gauge short and we have
23 | 30-gauge ultrashort. Then I went to get a
24 | 25-gauge long needle and we didn't have any.

1 I went and found them in the adult clinic.

2 Q. When you said we, you are referring
3 to the pediatric section --

4 A. The budget that I control is
5 pediatric dentistry.

6 Q. Do you know what the -- is the other
7 half of the dental school the adult section?

8 A. That's more than half, but yes.

9 Q. That's the other portion?

10 A. We are the only people that deal
11 with children.

12 Q. Do you know what the rest of the
13 dental school purchases or uses?

14 MS. HENRY: Objection.

15 A. I don't know. I know that I found
16 monoject needles when I went to one place, but
17 I don't know.

18 Q. How often do you use either a 27--
19 or 30-gauge needle in a week?

20 A. Myself?

21 Q. Yourself.

22 A. Several dozen times, I guess.

23 Q. Do you observe students or other
24 colleagues using dental needles?

RUNFOLA & ASSOCIATES (614) 445-8477
COMPUTERIZED TRANSCRIPTION

1 A. Yes.

2 Q. How many times in an average week
3 would you say you've observed the 27- or
4 30-gauge dental needles being used?

5 A. Twenty-seven is what we use
6 primarily. Thirty-gauge we use for limited
7 injections. Twenty-seven-gauge is the one
8 that gets used almost all the time. What we
9 would have to do is figure out how many
10 injections we give a week in our clinics and
11 that sort of thing.

12 Q. Could you give a ballpark estimate?

13 A. Hundreds of times a week in our
14 clinics, sure.

15 Q. And have you been using the monoject
16 needles ever since you have been at Ohio
17 State?

18 A. Yes.

19 Q. Ever had any problems with the
20 monoject needles? We've been talking about
21 breakage, but have you had any other problems?

22 A. I have never had any personal
23 problem with it.

24 Q. Do you know what's on the warning

1 labels? Do you know what's on the package
2 that the monoject needles come in?

3 A. I do now. I went and looked at it
4 as a result of reading these depositions, but
5 I didn't before I went and looked.

6 Q. I take it you learn, in dental
7 school you learn how to use a dental needle;
8 is that fair to say?

9 A. Sure.

10 Q. Did anything on the warning label
11 surprise you or teach you anything you didn't
12 know?

13 MS. HENRY: Objection. Go ahead.

14 A. It said to not inject the needle to
15 the hub.

16 Q. I asked sort of a compound question,
17 did it surprise you or did it tell you
18 anything --

19 A. I wasn't surprised.

20 Q. Was that something you had never
21 heard before?

22 A. No, it was nothing that I had never
23 heard before.

24 Q. I take it you had heard in law

1 school --

2 MR. BITTEL: Dental school.

3 Q. -- dental school that you are not to
4 inject the needle to the hub?

5 A. You know, I can tell you that I
6 suppose that I heard that some time, but I
7 certainly cannot tell you that it's a
8 principle that was engrained into me
9 clinically or in the didactic fashion.

10 Q. Are you aware of any studies that
11 demonstrate that 25-gauge needles can be used
12 as painlessly as 27- and 30-gauge needles?

13 A. There are a couple of studies that
14 I'm aware of that state that even, I think it
15 includes, included 23- gauge needles as well,
16 that patients could not perceive the
17 difference.

18 Q. Do you have any reason to disbelieve
19 those studies?

20 A. Oh, sure.

21 Q. What is the basis for your --

22 A. Clinical judgment, clinical
23 experience.

24 Q. Do you think the patient should have

1 noticed a difference from when you used a 25-
2 and 27-gauge needle?

3 A. No, I didn't say that. I said that
4 I think that there is a difference that can be
5 determined. I think they can tell the
6 difference between the extremes.

7 Q. Between the 23 and 30?

8 A. And in my clinical experience the
9 needle that we selected we selected because we
10 were able to use it effectively.

11 Q. I understand you were talking about
12 the control and that sort of issue. I'm just
13 asking about the discussions I've seen in some
14 literature about the various trauma the
15 patient may have or may not have.

16 A. There's essentially one paper that
17 reported that. I think that kids can tell a
18 difference, right. Now if you ask me can they
19 tell a difference between 25 and 27- --

20 Q. Exactly. That's the question I want
21 to pose to you.

22 A. There are not any well controlled
23 studies to dictate one way or the other. And
24 I think that's why personal preference

1 | dictates and my personal preference is to use
2 | 27.

3 | Q. I understand for other reasons that
4 | you articulated during Mr. Bittel's
5 | questioning about the control of the needle.

6 | A. No, no, that's a different issue,
7 | that's the length of the needle.

8 | Q. What other issues dictate your
9 | preference to the 27 as opposed to the 25?

10 | A. Frankly I've always used the 27. I
11 | guess I have no real reason to go to a 25. I
12 | can't tell you that I give so many kids
13 | injections with 25 and so many kids injections
14 | with 27 and I can tell the difference. I just
15 | have always used 27-gauge needles. I see no
16 | reason to change it.

17 | Q. So sort of past practice and the
18 | fact that you feel that there's better ease of
19 | control of the 27-gauge --

20 | A. No, the gauge has nothing to do with
21 | it. Control was the length. That's a
22 | separate issue.

23 | Q. Aside from the length issue, is it
24 | just your past experience with the 27-gauge

1 that dictates its use rather than the 25?

2 A. Right.

3 1 Q. Would you recommend the use of a
4 30-gauge needle for a mandibular block in a
5 1 child the size of Karla Spehar?

6 A. Of the appropriate length?

7 1 Q. Yes.

8 A. I wouldn't recommend it. I wouldn't
9 say it's wrong. I think that some people use
10 30-gauge needles. I have no reason to argue
11 that.

12 The issue with gauge of the needle
13 relates a lot to ability to aspirate. And
14 there have been enough studies to show, and my
15 clinical experience is you can aspirate with
16 any of those. As I told Mr. Bittel, in my
17 opinion now, the choice of your needle has a
18 lot to do, has most to do with personal
19 preference.

20 I don't think as hard as you look, I
21 don't think you are going to find any science
22 that is going to dictate that one is more
23 appropriate than another. Nor do I think you
24 are going to find a universal opinion. I

1 think what we are seeing and able to document
2 pretty easily is it's a matter of personal
3 preference.

4 Q. Do you think a 27-gauge needle more
5 easily breaks than a 25-gauge needle?

6 A. I don't know.

7 Q. Do you think that a 30-gauge needle
8 breaks more easily than a 27-gauge needle?

9 A. I just don't know.

10 Q. How about bending, the flexibility
11 that you observe between the needles, do you
12 observe more flexibility or bending in a
13 27-gauge than a 25-gauge?

14 A. I haven't used a 25-gauge enough to
15 really be able to tell you that. But I could
16 tell you if you looked at the extremes that a
17 30 would bend easier than a 23.

18 Q. How about just the 30 -- 23 hasn't
19 really come up in this case so far.

20 A. It's in the ADA standards.

21 Q. I'm just going to focus on the 30
22 and 27 right now. Do you notice that there's
23 a greater degree of flexibility of bending in
24 the 30 as opposed to the 27-gauge?

1 A. No, I don't. Again, I don't use a
2 30-gauge long or short needle, I use a
3 30-gauge ultrashort. It's that much shorter
4 and the fulcrum isn't that long and I don't
5 use it in a technique where it would bend. I
6 don't think about the bendability.

7 Q. I take it when the fulcrems are very
8 short the needle --

9 A. You don't have as much opportunity
10 to bend it. When I use a 30-gauge short, it's
11 either for ligamentary injection or most
12 frequently for infiltrations right there.

13 Q. Have you ever used a 30-gauge needle
14 for a mandibular block?

15 A. No.

16 Q. Do you know any colleagues who do?

17 A. I hear people talk about using
18 30-gauge needles routinely. I can't tell you
19 who they are, but I know that people use
20 them. And their clinical impression is that
21 there's, that the children have less
22 sensation. I can't tell you who they are. I
23 know they are used.

24 Q. Have any of them complained that the

1 30-gauge needle breaks during those mandibular
2 block injections?

3 A. I have already said that I've never
4 heard of anybody who has had anything to do
5 with any type of breakage.

6 Q. Would you agree that the primary
7 difference between the gauges is the degree of
8 aspiration that you can obtain with the
9 different gauges?

10 A. The primary difference is the size.

11 Q. And what is the effect of that size
12 difference in the gauges that you have
13 observed?

14 A. I think the lumen is smaller. The
15 smaller the needle, I think that the lumen is
16 smaller. In terms of ability to aspirate,
17 that was one of the original concerns about
18 not using smaller gauged needles because there
19 was concern that you couldn't aspirate. And,
20 but through clinical experience and then
21 through a number of other studies that have
22 been published it has been shown that you can
23 aspirate through. I don't know that that's a
24 difference. I would probably think it is not.

1 Q. What does the phrase "hubbing the
2 needle mean to you"?

3 A. I don't know, I would guess that it
4 means pushing it to the hub.

5 Q. I just want to make sure we are
6 operating on the same page.

7 A. Why don't you just tell me, taking
8 it all the way so the hub hits the mucosa.

9 Q. We call it the gum line. Is that
10 the same thing?

11 A. No.

12 Q. What's the difference?

13 A. Basically the gums are around the
14 teeth and the area where you are giving these
15 injections aren't into the gums, it's into the
16 mucosa, soft tissue. I think people
17 understand when you say gums, I think that's
18 pretty good.

19 Q. Would you agree that needle breakage
20 is usually -- strike that.

21 You won't be able to give an opinion
22 as to the usual cause of breakage of the
23 needle since you haven't experienced any; is
24 that fair?

1 A. You are right about that.

2 Q. Have you ever treated any patients
3 who have malignant hypothermia?

4 A. No, I have never treated anybody.
5 Now wait a minute, in New Orleans we were
6 referred a pair of kids who had, whose dentist
7 thought they had malignant hypothermia and we
8 treated them pretty routinely. I cannot
9 guarantee -- I have never treated anybody that
10 I know for sure had a definite diagnosis of
11 malignant hypothermia. You hear about it, but
12 I have never personally treated anybody that
13 there was laboratory evidence that they had,
14 confirmed, let's say.

15 Q. And here there is no biopsy to
16 confirm that there is malignant hypothermia.

17 A. Right.

18 Q. But I take it you had an occasion in
19 New Orleans where you performed some dental
20 procedure that he or she may have had
21 malignant hypothermia?

22 A. Yes.

23 Q. Did you take any extra precautions
24 with that patient, that you recall, did you do

1 anything different than with a normal patient?

2 A. I think what we did, through a
3 history we pretty much eliminated the
4 rationale. We eliminated the diagnosis. We
5 did a lot of consultations with the physicians
6 and things and we were treating the child in
7 the middle of New Orleans Children's Hospital,
8 but we treated her routinely.

9 Q. How many times have you performed a
10 mandibular block?

11 A. I have no idea.

12 Q. Is it in the thousands?

13 A. Sure.

14 Q. Would you have done anything
15 different than what Dr. Orchen testified to in
16 his deposition about the mandibular block?

17 A. From his description, it sounds like
18 he did a mandibular block the way we do
19 mandibular blocks. There isn't an awful lot
20 of different ways to do them. There's another
21 technique that is called a different injection
22 that he didn't use. He said he just gave a
23 mandibular block and the way he described it
24 seems to be the classic method.

1 Q. What is this other technique? Is
2 that a different type of technique?

3 A. You block the same nerve, but you go
4 to a different spot, you go to a different
5 position.

6 Q. Why would you perform one technique
7 as opposed to the other?

8 A. That's a good question. I think
9 that if you had -- there are some patients
10 that are, particularly adults, that are
11 particularly difficult to get mandibular
12 blocks on. And then you go to other
13 techniques. But it's just rarely done. The
14 technique that he did was the one that you use
15 over 99.9 percent of the time I would say.

16 Q. If you are trying to have a
17 successful mandibular block and it's not
18 successful the first couple times using the
19 technique Dr. Orchen used, would you try this
20 other technique?

21 A. I don't know the technique. I've
22 done it once or twice. I don't know the
23 technique well enough to do it. What I would
24 probably do is deposit the solution in a

1 different position, but I would use basically
2 the same approach.

3 I've never had a child, I've never
4 had difficulty with local anesthesia,
5 profundity of local anesthesia in a child.
6 There are a lot of anatomic and physiologic
7 reasons for that. Problems that I have had
8 have been more with adults or older teenagers,
9 and things, it has to do with the density of
10 the bone and permeates the tissues and gets to
11 the nerve block, Children's bone is quite
12 porous really and usually you are able to get
13 the anesthesia. It's not infrequent that you
14 give a second injection, but usually that will
15 do it.

16 Q. With children under five, you
17 started to answer the question I'm about to
18 ask, and that is how many times, I mean does
19 it just take one injection to, normally to
20 successfully perform the mandibular block on a
21 child under five?

22 A. I would say in a good majority of
23 cases you get it with one injection. But I'm
24 sure that in our clinics every day we need to

.....

1 readminister a block. I think it would be,
2 it's a little bit different for a pediatric
3 dentist versus a learning student. But even
4 for a pediatric dentist, probably a busy
5 pediatric dentist, every week that person is
6 using more than one block. That's not unusual
7 at all.

8 Q. What about three, is that unusual,
9 would you say?

10 A. When you say three, what factors
11 then is the amount of anesthesia, the amount
12 of local anesthetic the child is going to be
13 administered and that's what the dentist would
14 be concerned about.

15 Q. I don't really know how much
16 anesthesia you need, but if you assume the
17 amount of anesthesia that would be required
18 for a child.

19 A. If you gave three Carpules on a,
20 it's a milligram per kilogram issue, you are
21 talking about the toxicity of local
22 anesthetic. That's my concern. You, it's not
23 like saline, you can't just keep shooting
24 until, let's start with the kid's ears and

1 move in and we'll get this kid's whole head.

2 MS. HENRY: I think there's a
3 problem here. If you are talking about a
4 mandibular block, he considers using one
5 Carpule, regardless -- are you talking about
6 times you insert the needle as opposed to do
7 one mandibular block and you go back with a
8 second Carpule?

9 THE WITNESS: I'm thinking you are
10 talking about using a whole Carpule every time
11 you go in.

12 MR. JORDAN:

13 Q. What about just the number of
14 injections.

15 MS. HENRY: On one Carpule?

16 Q. Right.

17 A. You may give several with one
18 Carpule, sure.

19 Q. What would be the reasons that would
20 affect the number of times you would inject
21 the needle?

22 A, Well, probably the profundity of
23 anesthesia. And typically when you give a
24 mandibular block, you don't get total

1 anesthesia of like the soft tissues in the
2 outside surface. You have to give, come
3 outside and give a long buckle block, that
4 many times will accompany and be a normal part
5 of a mandibular block.

6 If you are using a rubber damn with
7 a clamp that's going to impinge upon the gum
8 tissue or if you are going to do crowns and
9 possibly impinge upon that tissue, then you
10 would give that. So let's say you give a
11 mandibular block and then you give a long
12 buckle, then you set it down and have this
13 needle recapped, you may well then, if you
14 find your anesthetic procedure isn't profound
15 enough, you may redo that again.

16 Q. What about the number of injections
17 on the inside mucosa, are there occasions when
18 you put it in and it doesn't feel like it's in
19 the right location and you pull it out and put
20 it in again?

21 A. On occasion, yes.

22 Q. And what factors dictate to you when
23 you put a needle in why you would want to pull
24 it out without injecting and putting it back

1 in again, looking for another location?

2 A. Well, probably the anatomy of the
3 area. There's a large muscle that's right
4 there and you don't want to inject into the
5 muscle. You want to inject into a space
6 adjacent to the muscle. Depending on the
7 anatomy and angulation of the ramus of the
8 mandible, the size of the ramus of the
9 mandible, those, there are anatomical
10 considerations.

11 And then there are times when a
12 child will have infection back there and it's
13 difficult to visualize it. And then times
14 when a child isn't behaving where it's a
15 moving target and you just didn't get it in
16 the right spot. So the idea is to put the
17 needle where it's supposed to be.

18 Q. Eliminate the moving target for this
19 question. Would you say that resistance in
20 the mucosa is the reason that you would pull
21 out the needle and inject it back again
22 looking for a better area, a softer tissue to
23 inject the or deposit the anesthesia?

24 A. That might be one. That if you went

1 in and felt that you were in the muscle, then
2 you might take it back out and reposition it.

3 Q. And how can you tell if you've hit
4 the muscle?

5 A. Sometimes you can't. Sometimes you
6 can't. Usually if the child is cooperative,
7 it's just not that difficult to see. The
8 landmarks are pretty clear. And particularly
9 if you are experienced. The technique is to
10 put your fingers on the mandible and look for,
11 these are technical things we do. We pull the
12 tissues taut to get a good look. If you pull
13 the tissues taut, you can see this little
14 triangular space, called the mandibular
15 triangle, there's several names, and we know
16 our anatomy that way. And you get a feeling
17 for the sort of resistance that is routine
18 when you give an injection. It's clinical
19 experience I would say.

20 I would think the dental students
21 would far more frequently inject to muscles,
22 the less experience, the less they are going
23 to know what it's going to feel like.

24 Q. Do you notice different resistance

1 levels in different children when performing
2 those?

3 A. Even when I'm doing it correctly,
4 sure.

5 Q. And some tissues are tougher than
6 others?

7 A. I would say some tissues, sure, if
8 you hit a ligament or if you hit bone, it's
9 going to feel differently. Your technique
10 normally is to try to just retract a little
11 bit and slide by it.

12 Q. Ever taught that you shouldn't bend
13 a needle before inserting it?

14 A. Yes, I've heard that.

15 Q. Have you ever bent a needle before
16 you inserted it?

17 A. I probably have. I can't tell you
18 exactly when. Probably -- certainly rarely, I
19 can't remember the last time I've done it. I
20 can't tell you I've never bent a needle. I
21 would be lying if I did.

22 Q. Would you agree that most dentists
23 that you are aware of have probably bent a
24 needle prior to its insertion?

1 A. I don't know.

2 Q. Would you agree that a dentist
3 should not attempt to change the direction of
4 a needle during a mandibular block while the
5 needle is embedded in tissue?

6 A. No. I don't agree with that. I
7 think that generally speaking, when you give
8 the injection you go in and you put it in one
9 spot. But I can also tell you that in the
10 daily practice of giving mandibular injections
11 to children, there are times when we retract a
12 little bit and position it somewhere else.
13 Particularly in kids that the anatomy is not
14 real distinct.

15 It's an approach. We have plenty of
16 anesthetic solution in the Carpule. If we put
17 a little bit in this position and retract and
18 put a little bit somewhere else, then we stand
19 a better chance of having profound anesthesia
20 the first time. So to say that it's
21 contraindicated and it's beyond the standard
22 of practice to deviate and needle when it is
23 in, I would have a hard time agreeing with
24 that.

1 Q. Would you agree that's what is
2 taught in dental school?
3 A. I have no idea.
4 Q. Do you remember what was taught in
5 dental school?
6 A. I really don't remember what was
7 taught in dental school.
8 Q. Did you look at any x-rays in this
9 case?
10 A. I don't think so. I don't think I
11 ever saw any x-rays.
12 Q. Do you twist the syringe at any
13 point during a mandibular block?
14 A. Twist the syringe. How do you mean
15 twist, in what dimension?
16 Q. I guess the normal meaning, side to
17 side is twist to me.
18 A. Twist would mean rotation.
19 Q. Right.
20 A. Let me think. I might a little
21 bit. Probably would be hard not to. When you
22 say twist it, are you talking about a needle
23 that's like this and it's rotating just like
24 this?

1 Q. I'm taking my pen and just going
2 like this with it, side to side.

3 A. Yeah, there's probably a little
4 rotational when you give an injection, yeah, a
5 little bit, not much, but I'm sure there is
6 some.

7 Q. Do you have any idea about how much
8 pounds of pressure or ounces of pressure you
9 apply in a syringe around a needle during a
10 mandibular block?

11 A. The hands pushing it in?

12 Q. Yes. There's no other force that's
13 putting the syringe in, right?

14 A. I was looking at the pressure that
15 you exert by plunging it. The solution can
16 come out in different volume, depending on how
17 much pressure. No, I don't know. I guess I
18 can tell you that one of the hallmarks in
19 pediatric dentistry is giving painless
20 injections. And one of the basic tendencies
21 we teach is don't hurt the kid.

22 So I can tell you from direct
23 experience that you are going to find a
24 difference in the technique of a pediatric

1 dentist from maybe someone who only treats
2 adults or an oral surgeon or something like
3 that. You can see a definite difference in
4 the technique.

5 Q. Are there any handbooks or textbooks
6 that talk to you about how much pressure to
7 apply to the syringe or needle during a
8 mandibular block or any injection?

9 A. No, it's more the art and the
10 science there. I think that you will find in
11 textbooks, and I'm sure I have them, one of
12 our principals, we give much slower, when I
13 say we, pediatric dentists give much slower
14 injections or are slower to insert the
15 needle. You will find that it will take a
16 pediatric dentist significantly longer to
17 deposit a given amount of solution of
18 anesthetic than someone who is maybe only
19 treating adults. And we are very gentle with
20 the tissues. So I would think that it would
21 be easy to conclude that the pediatric dentist
22 is more gentle in the technique, less
23 pressure.

24 Q. We had talked earlier or you had

1 answered some questions about the ANSI ADA,
2 specifically No. 54. Had you ever heard that
3 term "ANSI ADA"?

4 A. I've heard, sure, I know that
5 they've got standards.

6 Q. What is the ANSI ADA?

7 A. I don't know what ANSI stands for, I
8 don't know what it's an acronym for. The ADA
9 is frequently involved in, through counsels of
10 consultants in evaluating products and
11 materials and things like this, published
12 standards that I suppose dictate or regulate
13 maybe materials that are used. I don't know a
14 lot about it. It's nothing that we, it's
15 nothing that we refer to with any frequency.

16 Q. When you say we, you mean dentists
17 generally?

18 A. Probably.

19 Q. Well, what standards do you as a
20 dentist follow?

21 A. Toward what now?

22 Q. Well, say the selection of a needle.

23 A. Well, I guess in selection of the
24 needle, it's an easy, it's the one that we

1 have always gotten. A better example might be
2 of something new that's coming out, a
3 mouthwash, Plax, P-l-a-x, there's a good
4 example of material that was marketed directly
5 to the public without any scientific
6 information. And normally in those
7 sorts of things you look to, you look to
8 literature, to data to support something that
9 is new. In terms of needles, to be perfectly
10 honest, I mean it never occurred to me before
11 all this came up. Again, I'm just using what
12 I was taught and have used and taught all
13 these years.

14 Q. Would you agree that mandibular
15 blocks are deep penetrations?

16 A. They are probably the routinely, the
17 most deepest penetration that we routinely
18 do. Particularly in dentistry for children.
19 Most of the other injections we give are just
20 kind of infiltration injections, there are
21 exceptions, but routinely those are the
22 deepest ones.

23 Q. How deep do you normally need to go
24 to have a successful mandibular block? In

1 other words, to deposit the anesthesia, how
2 much in millimeters must you go inside the
3 mucosa?

4 A. Well, you know, it's an interesting
5 question. It varies. Probably 15
6 millimeters, maybe 10 to 15 millimeters,
7 something along those lines of what is usual.
8 But frequently, you know, as I said earlier,
9 frequently depending on the anatomy of the
10 situation, you go deeper. It's just not
11 unusual to do that.

12 Q. What are suprapariosteal
13 injections?

14 A. Well, it's, periosteum is tissue
15 that's attached to the bone, so if you are
16 giving a subperiosteal injection, that means
17 you are going down and really engaging bone.
18 Suprapariosteal deep, basically you are
19 depositing solution before you engage the
20 tissue it is attached to.

21 Q. Would that be a mandibular block?

22 A. I guess that would fall into that
23 category.

24 Q. Would you have done anything

1 different than Dr. Orchen after the needle
2 broke off?

3 A. You know, I read through his
4 deposition and obviously I wasn't there, I
5 didn't see the behavior of the child, it's
6 very difficult, hindsight is 20/20, but I
7 think I probably would have done exactly the
8 same thing that Dr. Orchen did.

9 Q. Including not telling the mother?

10 A. You see that I can't know because as
11 I told Mr. Bittel, I wasn't there to see what
12 the child's behavior was like, but I could
13 envision a scenario where that would occur.

14 Q. If the child was perfectly calm, and
15 perhaps even unaware that the needle had
16 broken, given that hypothetical situation,
17 what would you do?

18 MS. HENRY: Objection.

19 Q. Given the same scenario prior to
20 that point.

21 A. It's really hypothetical because
22 I've really never had a needle break off.

23 Q. I understand this whole thing is
24 hypothetical for you.

1 A. Right. Well, there are other issues
2 that factor into it too. If I felt that it
3 was -- I can't tell you for sure. I may have
4 gone out and talked to the parent, I may not
5 have, depending on the kid at the time.

6 Q. If a decayed tooth is properly
7 treated in a child and a steel crown is placed
8 on it such as in this case, how long will that
9 tooth remain healthy?

10 A. Boy, is that a loaded question.

11 MS. HENRY: Objection. Go ahead.

12 A. Yeah, I mean that's a -- it depends
13 on how much decay was there, what the vitality
14 of the tissue in the root canal is, what your
15 definition of health is, all those things. I
16 mean I can't give you a simple answer to that
17 question.

18 Q. Say the tooth in this case fell out
19 tomorrow or had to be removed, it was another
20 dentist's opinion that the tooth should be
21 removed tomorrow, would that surprise you?

22 A. How old is the kid?

23 Q I'm talking about this particular
24 case, Karla Spehar

1 A. It was a primary tooth that under
2 normal circumstances would exfoliate probably
3 around the age of anywhere from 10 to 11 years
4 old, maybe. In the absence of any pathology,
5 you know, and I don't know really have much
6 information about that, I believe that he did
7 a pulpotomy technique, which means he took
8 tissue out of the chamber but not out of the
9 roots. And the technique that he used is
10 successful in most cases, but certainly it
11 does fail. And I've certainly had it fail
12 with me. There's no guarantee. And it could
13 well be a function of the, if it had failed,
14 you know, if you do a technique like that and
15 it fails, it's usually indicative of a
16 advanced disease process.

17 But very honestly it is just
18 sometimes impossible to detect clinically and
19 that's why they fail.

20 The child was three at the time and
21 she is almost seven now?

22 MR. BITTEL: Yes, sir.

23 Q. After you are done using a needle,
24 one of these monoject needles, what do you do

1 with it?

2 A. Well, that's changed over time too
3 MS. HENRY: Objection.

4 Q. What do you do with it now?

5 A. It's recapped and it's disposed in a
6 safe container and disposed of.

7 Q. And prior to recapping it and
8 disposing of it, what was the procedure, what
9 did you do with the needle?

10 A. It's probably sitting right there.

11 Q. Did you ever break the needle?

12 A. No.

13 MS. HENRY: Intentionally break it
14 are you talking about?

15 A. I see. Put it in one of those
16 needle cutters or something like that?

17 Q. Right Or just bend it and break it
18 off

19 A. That would be a stupid thing to do
20 But you would be in violation of OSHA
21 standards. We are not to touch those things

22 Q. Plenty though?

23 A. Right. The needle is out of site.
24 the whole thing with a child is to do it and

.....
1 get it as far gone as you can. We don't use
2 it again normally.

3 1 Q. I'm not talking about breaking it in
4 1 front of the child.

5 1 A. We have no reason to do anything
6 with a needle when we are finished with it
7 1 other than to dispose of it, according to
8 whatever the protocol is.

9 Q. That's what I was asking about, are
10 you aware of any protocol in which the needle
11 is broken from the hub or shaft?

12 A. There are needle cutters that people
13 used to use, and I really don't even think
14 they are appropriate anymore. I think that is
15 kind of out of the window now.

16 Q. How many degrees do you bend the
17 needle when you are performing a mandibular
18 block in a child?

19 A. I don't bend it.

20 Q. Well, during the insertion, does the
21 needle bend at all or move off center, off
22 line from the shaft?

23 A. I'm sure you get a little flex. It
24 doesn't go in directly, but --

1 Q. That's what I'm trying to get at,
2 how much, if you had to put a little degree to
3 that flex.

4 A. Gosh, 10 degrees maybe, maybe 15.
5 You are talking about a child that is totally
6 cooperative, not somebody who moves in the
7 middle?

8 Q. Right.

9 A. Not an awful lot.

10 Q. What does asymptomatic mean?

11 A. Well, without symptoms, means that
12 normally you would say there are no
13 complaints. Typically the child isn't
14 complaining, people differentiate between
15 symptoms and signs. And symptoms might be
16 more subjective. It's what the child would
17 report, if there are no symptoms, the child
18 says it doesn't hurt, it doesn't feel funny,
19 it doesn't feel different.

20 Q. Is it your understanding that the
21 decayed tooth in this case was asymptomatic?

22 A. I think that's true. I would have
23 to go back and report that. I don't remember
24 that the child came in in pain.

1 Q Does the placement of a steel
2 crown -- what type of crown was placed in it

3 --

4 A. I think it was a stainless steel

5 crown

6 Q. Does the placement of a stainless
7 steel crown require removal of the rubber dam
8 prior to the completion of the procedure?

9 A. Not necessarily.

10 Q Does not the placement of a crown
11 usually require some compression by the
12 patient, their top teeth or to their bottom
13 teeth?

14 A. Not necessarily. I mean you can do
15 the same thing Pediatric dentists who have a
16 good knowledge of the child's occlusion will
17 frequently, particularly when we are in the
18 operating room doing a lot of things under
19 the rubber dam, will do a number of crowns
20 under the dam and you can compress them with
21 your hand or an instrument and push them down
22 to its appropriate occlusion

23 It does occur in some cases that you
24 have a child use their occlusion to put it

RUNFOLA & ASSOCIATES (614) 445-8477
COMPUTERIZED TRANSCRIPTION

1 together. But it can be either way, there's
2 no right or wrong in that.

3 Q. Some pressure needs to be placed on
4 top of the crown?

5 A. To seat it.

6 Q. To seat it.

7 A. Yes.

8 Q. And sometimes that is simply
9 compression by the patient themselves when
10 they bite. On other occasions that's pressure
11 applied by the dentist through some instrument
12 or just their hand; is that correct?

13 A. Just their finger, you can pop them
14 on pretty easily.

15 Q. What's the normal procedure to
16 achieve occlusion?

17 A. The normal procedure is to prepare
18 the tooth, select a crown size and to seat it
19 with your thumb basically, a pediatric dentist
20 you know, one who has done a lot of them,
21 that's basically what you do.

22 Q. Do you use a drill at all during the
23 restoration of a tooth such as the one Dr.

24 Orchen was doing?

1 A. Sure, sure you do.

2 Q. Do you children that you have used a
3 drill with normally have some tension when
4 they hear that drill, Just physical tension in
5 their muscles?

6 MS. HENRY: Objection.

7 A. No, I wouldn't say normally.

8 Q. Do you ever notice that
9 children's -- do you notice any difference in
10 a child's gum tissues or facial muscles when
11 you begin drilling?

12 A. No.

13 MS. HENRY: Don't volunteer
14 anything.

15 Q. Would you agree that a deep incision
16 is outside the area of expertise for a
17 dentist?

18 MS. HENRY: Do you want to define
19 deep incision for him.

20 Q. If he needs to. You would use the
21 term "deep incision."

22 A. No, of course, I would not agree
23 with that, no.

24 Q. So a deep incision can be performed

1 by a dentist?

2 A. Sure.

3 Q. How deep of an incision can a
4 dentist perform?

5 A. That's an impossible question for me
6 to answer. My gracious. I mean, you know, we
7 don't measure it that way. We don't have
8 curriculum standards that say you go to 15 and
9 stop, you can't go to 16. It depends, common
10 sense here dictates. It depends on your
11 training. And some general dentists have
12 gotten an extensive amount of training in oral
13 surgery and do a tremendous amount of oral
14 surgery, including, it's not so much, this
15 depth of incision thing has very little to do
16 with degrees or how complicated a surgical
17 procedure is. But some general dentists with
18 no advanced training have laid flaps and
19 removed bone and extracted impacted teeth and
20 repositioned teeth and all those sorts of
21 things.

22 So surgery is a component of a
23 general dentist's education. We mandate that
24 our dental students do surgeries. It's an

RUNFOLA & ASSOCIATES (614) 445-8477
COMPUTERIZED TRANSCRIPTION

1 accreditation standard that they do surgeries.

2 Q. Does a dentist normally perform
3 surgery during his normal practice?

4 A. Sure.

5 Q. What type of surgeries?

6 A. Well, extraction of teeth,
7 extraction of impacted teeth, depending on who
8 the person is and their interests.

9 Q. I guess we should limit it to
10 pediatric dentists because that's what we are
11 talking about.

12 A. Okay. Pediatric dentists would
13 extract impacted teeth, remove tissue in bone
14 overlying unerupted teeth. Remove odontomas,
15 an odontoma is like an extra tooth, let's say
16 a supernumerary tooth, extra tooth that's in
17 the midline. And its baby teeth are there but
18 the permanent teeth can't erupt because it's
19 in the way. So the particular procedure is
20 lay back a flap of soft tissue and remove some
21 bone and then remove this thing.

22 Other surgeries, our residents
23 routinely do all the intraoral lacerations
24 that come into Children's Hospital, tongues,

RUFFOLA & ASSOCIATES (614) 445-8477
COMPUTERIZED TRANSCRIPTION

.....

1 | fragments of tooth that are in lips and things
2 | like that, residents routinely do. Removal of
3 | benign lesions, things like that.

4 | Q. Are there any muscles in the mucosa
5 | in the area that the mandibular block was
6 | performed in this case?

7 | A. Sure, yeah.

8 | Q. Are you familiar with the muscle
9 | structure in that area?

10 | A. Yes.

11 | Q. And would any oral, excuse me, any
12 | pediatric dentists be familiar with the
13 | muscles that are in that area?

14 | A. Yes.

15 | Q. Would you feel comfortable
16 | performing any surgery that would affect those
17 | muscles that are inside the mucosa area?

18 | A. A limited amount, sure.

19 | Q. What does an oral surgeon do that
20 | you wouldn't do then?

21 | A. Many things. I mean --

22 | Q. In terms of surgeries in the mouth.

23 | A. Far more complex, complicated
24 | surgeries, that's their area of expertise. I

1 think just to say that, just the complexity of
2 the case they were given is far more than a
3 pediatric dentist would.

4 Q. Do you agree children's behavior is
5 more erratic than adults in your field?

6 A. It depends on the age of the child
7 and their development, you know.

8 Q. How about a three-and-a-half-year-
9 old child?

10 A. Good question. That's kind of the
11 threshold. That's what we teach the students,
12 the 36- to 42-month-old, 48-month-old is
13 really at its threshold at a time from where
14 they transition to a precooperative to
15 potentially cooperative and it can vary
16 tremendously from the same child and with the
17 same child from visit to visit.

18 Q. What is formo-cresol pulpotomy?

19 A. It's basically removing tissue, the
20 nerve and the blood vessels from the crown of
21 the tooth, that portion of the tooth that you
22 can see. And then --

23 Q. How do you do that?

24 A. You open the tooth with a bur,

1 b-u-r, and you remove the soft tissue that's
2 inside the tooth.

3 Q. How do you remove it?

4 A. Various ways, particularly with a
5 larger bur or a hand instrument. You medicate
6 the tissue that is there and then you fill the
7 chamber with a cement and then in almost all
8 cases after you do that you do a stainless
9 steel crown.

10 Q. How do you put the stainless steel
11 crown on the tooth?

12 A. You prepare the tooth down, make the
13 tooth smaller with a dental bur, then you fit
14 a crown on it that fits, put one on that fits.

15 Q. How do you put a rubber dam in a
16 mouth?

17 A. You, there's a little clamp that
18 fits around a tooth and you punch a whole in
19 this rubber dam, I'm sure you all know this,
20 and put the hole around the tooth and put the
21 clamp on it to keep the damn from coming off.

22 Q. I'm trying to heart back to those
23 wonderful moments, where do you put the clamp?

24 A. On the tooth.

1 Q. On the tooth that you are restoring?

2 A. Do you really want to know?

3 Q. I do.

4 A. I can show you a picture. It may or
5 may not be the tooth that you are restoring.

6 I don't mean to be short with you. I would be
7 happy to show you this.

8 Q. Do you know in this case? I'm more
9 particularly interested in this case, if you
10 know.

11 A. Yeah, I could guess what tooth he's
12 got it on because it's, the clamp has to go on
13 the tooth that's behind or distal to the tooth
14 that you are restoring, or the same tooth. So
15 I assume that the clamp was on the same tooth
16 that he was restoring. That was the lower
17 right second molar, if I'm correct.

18 Q. Does the clamp, regardless of the
19 tooth it's on, the teeth or tooth behind, does
20 it go all the way down to the mucosa?

21 A. There it's gum.

22 Q. Does it touch the gum?

23 A. It all depends, sometimes they
24 don't, depends on the morphology of the tooth.

1 Q. And is that normal for the dentist
2 to put the clamp and rubber clamp on or is
3 that the dental assistant's job?

4 A. Could be either way.

5 MS. HENRY: Off the record.

6 (Discussion off the record.)

7 BY MR. JORDAN:

8 Q. Was the tooth that was treated here
9 the last tooth in her mouth?

10 A. Probably, yes.

11 Q. And that's why it is your opinion
12 that that was the tooth that he clamped?

13 A. That's right.

14 Q. And just sort of a last question
15 here, do you have a ruler or anything here
16 that would indicate how much two millimeters
17 is?

18 A. Sure. This is a little gauge here.
19 These are centimeters and these are
20 millimeters, ten millimeters in a centimeter.
21 So two millimeters comes to just like between
22 the C and the M from here. It's about the,
23 from this line to about the other side of
24 the C.

1 Q. Would you be able to bring that with
2 you Thursday?

3 A. I'm not going anywhere Thursday,
4 guys.

5 Q. We are coming here.

6 MR. JORDAN: That's all.

7 1 - - - - -

8 FURTHER CROSS-EXAMINATION

9 BY MR. BITTEL:

10 Q. Doctor, I think you indicated that
11 in your injection technique for a mandibular
12 block, the needle might bend about 10 or 15
13 degrees and I want to -- is that what you said
14 to Mr. Jordan?

15 A. I'm guessing. I've never thought
16 about it, I've never paid much attention to
17 it. Certainly you don't bend it a lot when
18 you do it. You are talking about the needle
19 itself bending, you are not talking about the
20 angulation I'm entering the tissue, you are
21 talking about once you go in the needle bends.

22 Q. I'm talking about the bend of the
23 needle relative to the syringe.

24 A. Yes.

1 Q. Yes, sir.

2 A. So it's the needle that's flexing?

3 Q. Yes, sir. That's what I am trying
4 to determine, so I am clear.

5 A. I wish I had one of those things, a
6 compass, that shows you how many degrees. The
7 technique is not to go in there and bend over.

8 Q. Again, you have your book in front
9 of you and I'm looking at page 322, figure
10 27.7, and what I'm trying to ask and ascertain
11 is when the point of the needle first touches
12 the mucosa, does proper technique call for the
13 needle and the syringe to be injected in a
14 straight line without deviation?

15 A. Depends on how you define straight
16 line. Pretty much so. I mean there may be a
17 little deviation. But the technique is not to
18 go in and try and leverage a needle. Part of
19 the technique, we are blocking two nerves,
20 blocking the mandibular and also blocking the
21 lingual nerve when we are giving this block.

22 We call it a mandibular block inferior

23 alveolar. But you are in essence doing two
24 different things. You deposit the solution in

1 a couple of different spots, so that's why I
2 say that there is, frequently we move it
3 around. So there may be some needle bendage
4 doing that, but it's not, I mean it's not a
5 lot.

6 Q. This is, I understand that in making
7 one of the injections, one of these mandibular
8 block injections, you and any dentist working
9 in a clinical atmosphere, but I want to
10 determine, if my understanding about proper
11 mandibular block injection technique is
12 correct, and when you testified for Mr. Jordan
13 a few minutes ago, it was my understanding
14 that you indicated the needle might flex 10 or
15 15 degrees during the injection. Is that
16 true?

17 A. That's what I said and I'm
18 guessing. I'm sure there is some needle
19 flexion. It's just not extensive. You asked
20 me a question that I have difficulty
21 answering. I have never measured it in
22 degrees, so it's hard for me to tell you that.
23 Q. I'm not trying to conduct a geometry
24 lesson, but certainly there is a, you can

1 | ~~ascertain the difference between a 10- or~~
2 | 15-degree flex and a 45-degree bend?

3 | A. Yeah, I can do that.

4 | Q. Okay. And I'm not trying to be
5 | funny in anyway, joking about this.

6 | A. I understand.

7 | Q. Your technique and proper mandibular
8 | block technique does not call for the needle
9 | to be bent or flexed in the magnitude of 45
10 | degrees during the injection, does it?

11 | A. I have to repeat it, I cannot answer
12 | the question in degrees. I'm telling you
13 | there's some flexion, and that is a routine
14 | part of giving a needle or giving an
15 | injection, excuse me. And it would be so much
16 | easier if I could sit here and do this and I
17 | could get a handle on it. I've never measured
18 | it in terms of this. There is definitely
19 | going to be some needle flexion. Probably
20 | depends a lot on the size of the people.
21 | There are a lot of clinical issues. And I
22 | cannot quantify how many degrees it's going to
23 | bend. I'm sure there's going to be some bend.

24 | Q. Well, let me ask it this way: Do

1 you, in making an injection, mandibular block
2 injection, contact the bone?

3 A. Yeah, frequently.

4 Q. Is that by design or inadvertence?

5 A. Oh, some people have taught that the
6 appropriate technique is to go to the bone and
7 then to back off and deposit the solution.
8 And I would never say that that's
9 inappropriate. My experience is that when you
10 do that, when you touch the bone, you are
11 touching periosteum and that can be a more
12 painful thing. So I will frequently stay
13 somewhat short of that.

14 But it's neither, I mean I can't
15 tell you that it's a standard of care not to
16 or that it is a standard of care to. There is
17 certainly nothing wrong with doing it.

18 Q. Well, do you know of any case that
19 it is acceptable -- strike that.

20 Is it an acceptable mandibular block
21 technique in a child of Karla's age to inject
22 the needle to hit the bone so that only about
23 five millimeters of the needle is through the
24 mucosa and then to rotate the syringe and the

.....
1 needle to bring the syringe and the needle
2 parallel to the mandible?

3 A. Yes.

4 Q. That's acceptable?

5 A. Yes.

6 MR. BITTEL: I don't have any other
7 questions.

8 - - - - -

9 FURTHER CROSS-EXAMINATION

10 BY MR. JORDAN:

11 Q. Doctor, I know it's difficult to
12 figure out degrees and you don't have a
13 compass here, I've just drawn a very crude
14 syringe. Could you add a needle to that and
15 show how much the needle would move?

16 A. No, I really couldn't. I'm telling
17 you I can't do that. I can't give you an
18 idea. It's not something that I routinely
19 think about. And I would have to -- you have
20 to understand my bias as an academician is
21 not to make offhand comments to things like
22 this, but rather to measure them and do them
23 in a repeated fashion. Then you would have
24 facts, but then it would only be conjecture on

1 my part.

2 Q. Now look at the 404 standards
3 where they talk about testing the remastage to
4 breakage?

5 No

6 Q. Did you see how much the ADA
7 recommended testing needles, what degrees
8 they --

9 A. No, didn't read any of that, no.

10 Q. If needles were tested to see if
11 they could withstand 20 cycles of bending at
12 25 degrees and the needles passed that type of
13 test, would that strike you as an adequate
14 test of --

15 A. I don't have any way of commenting
16 on that.

17 MS. HENRY: Objection.

18 A. I don't know any of that stuff.

19 MR. JORDAN: Nothing else.

20 MS. HENRY: Thank you

21 MS. HENRY: You have a right to read
22 this transcript, it's between now and Thursday
23 they are going to get it done or not done, but
24 you have the right to read it to make sure

----- RUNFOLA & ASSOCIATES (614) 445-8477
COMPUTERIZED TRANSCRIPTION -----

1 that it is true and accurate before it could
2 be used in court and to examine you.

3 1 THE WITNESS: Yes, I would like
4 that.

5 - - - - -

6 Thereupon, the deposition was
7 concluded at 11:10 o'clock p.m.

8 - - - - -

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

DENNIS McTIGUE, DDS-----

IN WITNESS WHEREOF, I have hereunto set
my hand and affixed my seal of office at
-----, Ohio, on this ----- day of
-----, 1990.

Notary Public in and for
the -----

My commission expires:-----

1 CERTIFICATE

2 STATE OF OHIO

3 COUNTY OF FRANKLIN : SS.

4 I, Kathryn E. Smith, a Registered
5 Professional Reporter and Notary Public in and
6 for the State of Ohio duly commissioned and
7 qualified, do hereby certify that DENNIS
8 McTIGUE, DDS was by me first duly sworn to
9 testify to the truth, the whole truth, and
10 nothing but the truth in the cause aforesaid;
11 that the testimony then given by him was by me
12 reduced to stenotypy in the presence of said
13 witness, afterwards transcribed by means of
14 computer; that the foregoing is a true and
15 correct transcript of the testimony so given
16 by him as aforesaid; and that this deposition
17 was taken at the time and place in the
18 foregoing caption specified, and was completed
19 without adjournment.

20 I do further certify that I am not a
21 relative, counsel or attorney of either party
22 herein, or otherwise interested in the outcome
23 of this action.
24

1 IN WITNESS WHEREOF, I have hereunto set
2 my hand and affixed my seal of office at
3 Columbus, Ohio, on this 13th day of
4 November, 1990.

5 Kathryn E. Smith
6 KATHRYN E. SMITH, Notary Public -
7 State of Ohio.

8 My commission expires January 14, 1993.
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24