

COPY

THE STATE OF OHIO

COUNTY OF CUYAHOGA

IN THE COURT OF COMMON PLEAS

- - -

BRENDA THOMAS, etc., et al., :

Plaintiffs, :

vs. : CASE NO. 160681

THE OBERLIN CLINIC, et al., :

Defendants. :

- - -

Deposition of CLARENCE R. MCLAIN, JR., M.D., a  
witness herein, taken by the defendants as upon  
cross-examination pursuant to the Ohio Rules of  
Civil Procedure and pursuant to agreement and Notice  
To Take Deposition as to the time and place and  
stipulations hereinafter set forth, at the  
University of Cincinnati, College of Medicine, 231  
Bethesda Avenue, Cincinnati, Ohio, at 12:45 p.m. on  
Saturday, July 7, 1990, before Amy E. Benjamin, a  
Professional Reporter and Notary Public within and  
for the State of Indiana.

- - -

COPY

THE STATE OF OHIO

COUNTY OF CUYAHOGA

IN THE COURT OF COMMON PLEAS

- - -

BRENDA THOMAS, etc., et al., :

Plaintiffs, :

vs. : CASE NO. 160681

THE OBERLIN CLINIC, et al., :

Defendants. :

- - -

Deposition of CLARENCE R. MCLAIN, JR., M.D., a  
witness herein, taken by the defendants as upon  
cross-examination pursuant to the Ohio Rules of  
Civil Procedure and pursuant to agreement and Notice  
To Take Deposition as to the time and place and  
stipulations hereinafter set forth, at the  
University of Cincinnati, College of Medicine, 231  
Bethesda Avenue, Cincinnati, Ohio, at 12:45 p.m. on  
Saturday, July 7, 1990, before Amy E. Benjamin, a  
Professional Reporter and Notary Public within and  
for the State of Indiana.

- - -

1 Q. Well, do you know in this case that  
2 Brett Thomas was born at about 31 weeks gestation?  
3 Is that your estimation as well?

4 A. 31 to 32.

5 Q. Okay. Which would be some three weeks  
6 or so before you would expect in the normal  
7 situation that surfactant would have developed in  
8 this child's lungs?

9 A. That's right.

10 Q. You have had the opportunity, as I  
11 understand it, to review the prenatal records of  
12 Brett Thomas --

13 A. Yes, I have.

14 Q. -- at the clinic. And I think you've  
15 also received a copy of the transcript of the  
16 deposition of Dr. Rollins, who was caring for Brett  
17 during the prenatal period.

18 A. Yes, I did.

19 Q. Is there anything in those records,  
20 Doctor, that suggests to you that in a period of  
21 weeks or more prior to his delivery there was any  
22 stressful event in the course of the pregnancy?

23 A. Only 48 hours before.

24 Q. Okay. So the first stressful event

1 rendered to Mrs. Thomas by Dr. Rollins during her  
2 prenatal period and up to and including his  
3 treatment of her in the periods of observation in  
4 the 48 hours prior to her delivery?

5 A. No, I don't. It would have been  
6 preferable to have an ultrasound at that time to see  
7 where the location of the placenta was. However, I  
8 have no criticisms of his management of the patient.

9 Q. Dr. Rollins has stated in deposition,  
10 and I believe his records also reflect his diagnosis  
11 as being one of a marginal sinus rupture. Do you  
12 recall that?

13 A. That's recorded in the chart.

14 Q. Marginal sinus rupture is not the same  
15 thing as a separated placenta, is it, Doctor?

16 A. Yes, it is.

17 Q. What does the term "abruptio placenta"  
18 mean?

19 A. Well, abruptio placenta is synonymous  
20 with premature separation of the placenta. Those  
21 are two terms that mean the same thing. Abruptio  
22 placenta has the implication of being a more severe  
23 degree of premature separation of the placenta.  
24 Marginal sinus rupture is a more mild form of

1           A.    May or may not. A 1500-gram baby  
2 doesn't always have respiratory distress syndrome.

3           Q.    But Doctor, is there anything about  
4 being delivered at Cleveland Metropolitan Hospital  
5 that would have prevented the child having  
6 respiratory distress syndrome as opposed to being  
7 delivered at Allen Memorial Hospital?

8           A.    Absolutely.

9           Q.    What?

10          A.    This baby was practically ignored the  
11 whole delivery. No one was in attendance managing  
12 the labor. There should have been a fetal monitor.  
13 The mother wasn't even given oxygen during the  
14 labor. There wasn't even an I.V. fluid started in a  
15 patient who is bleeding in a premature labor. And  
16 I'm sure at a tertiary care center all of these  
17 things would be done.

18          Q.    Had all of those things been done, are  
19 you telling me the baby would not have had  
20 respiratory distress syndrome?

21          A.    No, I can't say that; but if it had  
22 respiratory distress syndrome, it would have been  
23 managed differently in that it would have been  
24 ventilated. The low Apgar score at the time of

1 delivery just indicates that this baby was depressed  
2 at the time of the delivery secondary to two  
3 factors.

4 One is the morphine that was given, that  
5 suppresses the central nervous system and the  
6 respiratory centers, about four hours before  
7 delivery. The second factor is that the patient was  
8 bleeding with some degree evidence of a premature  
9 separation of the placenta, which may have stressed  
10 the baby to one degree or another. We're not quite  
11 sure. But we do know this was a very depressed baby  
12 at the time of the delivery.

13 And then when the transport team arrived  
14 two hours after delivery, this baby was in shock  
15 from metabolic acidosis as well as respiratory  
16 acidosis. The baby didn't have an I.V. started  
17 for 80 minutes after delivery, and the baby wasn't  
18 ventilated during this time. And anyone you talk to  
19 will tell you that the first 60 minutes after birth  
20 really is a major factor that results in the outcome  
21 of the baby.

22 This baby was not properly ventilated  
23 during the first two hours after birth, and this  
24 baby was a very high-risk baby at this time. Would

1 have been managed totally different in a tertiary  
2 care center.

3 Q. I guess what I'm trying to draw in my  
4 own mind, Doctor, is the distinction between the  
5 extent and number of the factors that made this baby  
6 a high-risk baby as opposed to your criticisms of  
7 its management.

8 Now there were preexisting high-risk  
9 factors here, were there not? Regardless of where  
10 the child was born.

11 A. During the process of labor, there  
12 were high-risk factors and they weren't attended  
13 to. And the outcome of these babies is entirely  
14 different -- a 1500-gram baby has approximately  
15 a 90-percent chance of being a normal baby with  
16 proper management.

17 Q. Meaning that a low birth-weight baby  
18 of 1500 grams, that only ten percent of them develop  
19 neurological sequelae?

20 MR. BECKER: Assuming proper  
21 management?

22 MR. ROBERTSON: Yes.

23 A. Assuming proper management that we can  
24 give a baby in 1983. Good management and delivery.

1           A.    No, not necessarily. And it is not  
2 unusual for a baby to initially cry and then not.  
3 This will probably reflect more the sedative factor  
4 of morphine on the respiratory system.

5           Q.    Well, Doctor --

6           A.    That the baby might have cried  
7 initially and then respirations not continued.

8           Q.    Wouldn't it be even more evidence of  
9 depressive effect of morphine if he hadn't of tried  
10 at all?

11          A.    Not necessarily.

12          Q.    Is the use of morphine to attempt to  
13 control or prevent labor an acceptable practice?

14          A.    Absolutely not.

15          Q.    Have any literature or references,  
16 Doctor, that would tell us that?

17          A.    I don't have the specific references,  
18 but it's in the literature that -- years ago people  
19 used to give -- physicians used to give patients  
20 morphine to try to knock out premature labor; but  
21 then it became evident that the central nervous  
22 system in a premature baby was extremely susceptible  
23 to narcotics, and no longer is that acceptable  
24 treatment of prevention of premature labor.



1 Q. Was the physiology of administration  
2 of medicine to the mother reaching the baby?

3 A. Any medication or drug that is given  
4 to the mother crosses the placenta to the baby.

5 Q. How long does it take for a drug  
6 administered to the mother to reach the baby?

7 A. It depends on probably a number of  
8 different factors in terms of transport across the  
9 placenta. But with narcotics, crossing the placenta  
10 should occur within about 15 minutes.

11 Q. And how long does the effect of the  
12 narcotic persist?

13 A. Probably four to six hours.

14 Q. And if you're talking about the  
15 administration of a 15-milligram dose, would those  
16 time frames still apply?

17 A. Oh, yes. That's a very high dose.

18 Q. Doctor, your report of February 8th --  
19 I'm sorry, February 6, 1990, says that -- the bottom  
20 of page 1, under Heading No. 2 continuing over to  
21 the top of page 3 -- "The heavy sedation with  
22 morphine added to the severe respiratory depression  
23 and attributed to the asphyxia."

24 Asphyxia is a medical term that means

1 what, Doctor?

2 A. A very depressed baby.

3 Q. Also on page 1, under Heading 2 you  
4 say "The low Apgar rating helps direct attention to  
5 immediate needs and reflects preexisting fetal  
6 distress secondary to abruptio placenta during the  
7 process of labor."

8 Do you see that statement, Doctor?

9 A. Yes.

10 Q. Your letter of September 29, 1989 --  
11 on page 3, paragraph 7 -- says, and I quote, "There  
12 was no evidence in the medical record that there was  
13 fetal heart rate monitoring and, therefore, it is  
14 unknown whether there was significant fetal distress  
15 during the entire labor and delivery."

16 Do you see that statement, Doctor?

17 A. Yes, I do. But I followed that with a  
18 statement "The infant was severely depressed at the  
19 time of the delivery." So the fact that there was  
20 no monitoring or attention to the welfare of the  
21 fetus during labor doesn't rule out that the baby  
22 was distressed.

23 What we say is the end product. The baby  
24 was depressed, and he had to be depressed due to

1 the baby versus the possible hazards of her going  
2 into labor and delivering on the way. Now --

3 Q. Are you saying it was preferable to  
4 have this child delivered in the ambulance as  
5 opposed to being delivered at Allen Memorial  
6 Hospital?

7 A. No. You have to consider that when  
8 you decide when you're going to transfer: How far  
9 you have to go, what are her possibilities of  
10 delivering the baby before she gets to where you're  
11 taking her.

12 And that is why this is important, and I  
13 think it's very negligent that an obstetrician  
14 admits a patient 31, 32 weeks gestation and who is  
15 in active labor when she comes in because two days  
16 before the cervix was closed and long and not  
17 dilated and the presenting part was floating high.

18 On admission the cervix was one and a half  
19 to two centimeters dilated in the position --  
20 presenting part was a minus one station. So things  
21 had changed in 48 hours. This patient was obviously  
22 in early active labor, and to leave the hospital and  
23 not observe that patient to see what she's going to  
24 do within the next 30 to 60 minutes -- she

1 demonstrated she was changing. It was then ~~that she~~  
2 ~~should have been transported~~, and she would have  
3 gotten to the tertiary care center before she  
4 delivered at that point.

5 Q. We know that with the benefit of 20/20  
6 hindsight that she would have delivered at the  
7 hospital, but can we say that in prospective view?

8 A. Prospectively, if I had been on the  
9 spot, that's the decision I would have made.

10 Q. You were not on the spot, were you,  
11 Doctor?

12 A. No.

13 Q. And Dr. Belizaire had been and had  
14 examined this patient, had he not?

15 A. Yes.

16 Q. Is it not generally true that a  
17 clinician present dealing with the patient is in a  
18 better position to make decisions to her appropriate  
19 care than one who is not?

20 A. I think we have the evidence in the  
21 record of what was going on at that time, and it's  
22 a his decision versus what you think is the right  
23 decision.

24 Q. Your opinion letter states low Apgar

1 ratings reflect preexisting fetal distress. Cannot  
2 low Apgar ratings merely be a reflection of an  
3 infant with severe RDS?

4 A. RDS is not present immediately at the  
5 time of delivery. RDS occurs following, so RDS  
6 would not cause a low Apgar rating in the first  
7 minute.

8 Q. How about at five minutes?

9 A. Would not be a significant factor  
10 because RDS doesn't usually occur to an hour, two,  
11 three, four, five, even six hours after delivery.

12 Q. You go on to say that the preexisting  
13 fetal distress was secondary to abruptio placenta  
14 during the process of labor.

15 A. Plus morphine, as I mentioned.

16 Q. How do you know that there has been an  
17 abruptio placenta, Doctor?

18 A. She was bleeding and she was in labor  
19 and the uterus was contracted.

20 Q. We talked before about the fact that  
21 you believe that the terminology "marginal sinus  
22 rupture" is simply another way of saying abruptio  
23 placenta; is that correct?

24 A. That is correct.

1 Q. It's a matter of degree as to whether  
2 it's considered entirely marginal or whether it's  
3 more serious?

4 A. That's correct.

5 Q. And what evidence is there in the  
6 record that is not equally consistent with the fact  
7 that at the time Dr. Belizaire saw this lady that  
8 the bleeding she was experiencing was not simply a  
9 renewed bleeding from the same marginal sinus  
10 rupture diagnosed by Dr. Rollins?

11 A. Because the uterus was contracting.  
12 The bleeding was associated with passage of clots,  
13 and this was particularly evident in an hour, hour  
14 and a half later. So what happened, I'm sure, is  
15 that a little more of the placenta had separated  
16 than had separated 48 hours before.

17 Q. Incidentally, was Dr. Rollins not  
18 notified by the nursing staff at Allen Memorial  
19 Hospital an hour later of those clots?

20 A. Dr. Rollins?

21 Q. I'm sorry, Dr. Belizaire.

22 A. No, he wasn't. He wasn't notified by  
23 the nurses at Allen Memorial Hospital until 2:29  
24 a.m., and then he did not come into the hospital

1 even though she was four or five centimeters  
2 dilated.

3 Q. Your opinion goes on to say "There is  
4 no evidence that the mother was given oxygen, I.V.  
5 fluid, or repositioned in the lateral position  
6 during labor."

7 A. That is correct.

8 Q. What significance would it have if any  
9 of those things were done but simply not recorded?

10 A. The baby would have been better  
11 oxygenated if the oxygen would have been  
12 administered. By moving the patient to the lateral  
13 position you take the fetus off the inferior vena  
14 cava, you help prevent the mother's blood pressure  
15 from dropping and, therefore, increase the uterine  
16 blood flow to the placenta and, therefore, the blood  
17 flow to the baby.

18 So hydration, I.V. fluids, left lateral  
19 position, oxygen is a treatment for any labor in  
20 which there is a problem going on that might  
21 adversely affect the fetus.

22 Q. If any of these things --

23 A. It's routine.

24 Q. If any of these things were done but

1 simply not properly recorded in the record, would  
2 that, in your opinion, be evidence mitigating  
3 against the incidence of severe brain damage in this  
4 child?

5 MR. ZELLERS: Objection.

6 MR. BECKER: Objection.

7 A. I think those are factors that in all  
8 probability would have helped to improve the  
9 outcome. But there were so many other factors that  
10 were also not performed that it would be hard to say  
11 that if those things had been done the outcome would  
12 have been different.

13 Q. Doctor, with respect to administration  
14 of morphine, it's your view, as I understand it,  
15 that it was totally inappropriate to use morphine at  
16 all; is that correct?

17 A. That is correct.

18 Q. And the dosage is not of significance?

19 A. Well, the dosage is a very high dose.  
20 It's as high as we ever give an adult.

21 Q. Are you suggesting that a smaller dose  
22 of morphine might have been all right? Five  
23 milligrams, ten milligrams?

24 A. No. I would suggest that morphine



1 various structures of the baby.

2 Q. Amniotic fluid also performs a  
3 function as a shock absorber, does it not?

4 A. To a certain extent.

5 Q. And if the amniotic fluid is drained  
6 by an amniotomy, there is no longer this buffer of  
7 fluid between the infant's head and the cervix, is  
8 there?

9 A. If you have a mother who has a  
10 premature separation of the placenta and the baby's  
11 head is down so it's safe to do an amniotomy,  
12 then -- and if you're not going to transport the  
13 patient and you're going to deliver the patient in  
14 that labor and delivery area, then it is recommended  
15 that you do an amniotomy so that you can accelerate  
16 labor and deliver the baby so that fetal distress  
17 doesn't occur over a longer period of time than is  
18 necessary. And also to see what color the amniotic  
19 fluid is to see whether there is meconium staining,  
20 which also helps to tell you whether the baby is in  
21 distress.

22 And then of course, because the external  
23 monitor is not quite as accurate as the internal  
24 monitor in terms of abnormalities in the fetal heart

1 rate, you attach scalp electrodes to the baby's head  
2 and then if fetal distress by the fetal monitor and  
3 the meconium staining of the amniotic fluid is  
4 judged to be severe enough that you don't think the  
5 baby is going to deliver within a reasonable period  
6 of time, then intervention by cesarean would be  
7 indicated.

8 Q. There was no meconium staining in this  
9 case, was there, Doctor?

10 A. Well, the amniotomy was done just  
11 prior to delivery, and there is no recording in the  
12 medical record that there was meconium.

13 Q. I'm not sure I understand that answer  
14 in comparison to my question. Are you saying that  
15 there was meconium staining but it wasn't recorded?

16 A. I'm just saying there's no recording  
17 in the medical record. I would like to point out in  
18 that regard that Dr. Belizaire never has any  
19 recording in the medical record except for the first  
20 few words when he describes the slight amount of  
21 bleeding on admission. There is no delivery note  
22 written by Dr. Belizaire to even say what he did do  
23 or didn't do.

24 So my answer to your question is that he

1 exactly the time of injection.

2 Q. Doctor, I assume it was appropriate to  
3 give the dose of Narcan, right?

4 A. Under the circumstances.

5 Q. And within what time frame should that  
6 initial dose of Narcan have been given in this  
7 case? How soon after delivery?

8 A. As soon as possible, preferably  
9 intravenously. And I say intravenously because  
10 this -- if your baby is really depressed and the  
11 baby is in shock and the circulation is not really  
12 good and doesn't take it up intramuscularly, as soon  
13 as you can possibly give it. Probably ideally it  
14 should be given to the mother, I.V., just prior to  
15 delivery.

16 Q. Doctor, from your review of the  
17 records in this case, do you have an opinion as to  
18 whether or not there was significant fetal distress  
19 during Mrs. Thomas' labor and delivery?

20 A. Based on the condition of the baby at  
21 the time of delivery, there was a stressful event  
22 that occurred during labor and delivery.

23 Q. Any way to time that stressful event?

24 A. That it probably occurred sometime

1 between admission and delivery.

2 Q. Do you have an opinion --

3 A. I don't think I can say to what degree  
4 except that the baby was very severely depressed.

5 Q. Do you have an opinion as to whether  
6 or not there should have been electronic fetal heart  
7 monitoring in this case?

8 A. Oh, yes. There should have been.

9 Q. And should that have been continuous  
10 or intermittent?

11 A. Should have been continuously.

12 MR. ZELLERS: I have nothing further.  
13 Thank you, Doctor.

14 MR. ROBERTSON: On the subject of  
15 electronic fetal monitoring, Doctor, you've already  
16 said that you have not seen the protocols from Allen  
17 Memorial Hospital which deal with that.

18 THE WITNESS: That is true.

19 MR. ROBERTSON: So you don't know  
20 whether or not the protocols spell out whether or  
21 not the nursing staff, for instance, has the  
22 authority under the protocols to institute without  
23 doctor's orders electronic fetal monitoring?

24 THE WITNESS: I'm speaking of

standards of care with a similar patient in 1983.  
Dr. Belizaire in his testimony said that it was the  
responsibility of the nurse to place the electronic  
monitor, for whatever that's worth.

MR. ROBERTSON: Nothing further.

MR. ZELLERS: Just very quickly on  
that, Doctor. Does the attending physician have any  
responsibility in terms of ordering electronic fetal  
heart monitoring independent of whatever the  
policies of the respective hospital?

THE WITNESS: I think so, definitely.

MR. ZELLERS: And what about calling  
in a pediatrician for delivery, does the  
attending --

THE WITNESS: It's the obstetrician's  
responsibility.

MR. ZELLERS: Does your response  
depend upon the standards or protocols at Allen  
Memorial Hospital?

THE WITNESS: No.

MR. ZELLERS: I've got nothing  
further. Thank you.