

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

DOC.
308

3 SUZANNE BOYD, ET AL.,

4 Plaintiffs,

5 - vs -

JUDGE CALLAHAN
CASE NO. 233,783

6 BERT M. BROWN, M.D.,
7 ET AL.,

8 Defendants.

9 - - - -

10 Deposition of GEOFFREY MENDELSON, M.D.,
11 taken as if upon cross-examination before Linda
12 A. Astuto, a Registered Professional Reporter
13 and Notary Public within and for the State of
14 Ohio, at the offices of Mt. Sinai Hospital, One
15 Mt. Sinai Drive, Cleveland, Ohio, at 11:00 a.m.
16 on Thursday, September 1, 1994, pursuant to
17 notice and/or stipulations of counsel, on behalf
18 of the Plaintiffs in this cause.

19 - - - -

20 MEHLER & HAGESTROM
21 Court Reporters
22 1750 Midland Building
23 Cleveland, Ohio 44115
24 216.621.4984
25 FAX 621.0050
 800.822.0650

1 APPEARANCES:

2 Charles Young, Esq.
3 Lynn Lebit, Esq.
4 Sindell, Lowe & Guidubaldi
5 610 Skylight Office Tower
6 Cleveland, Ohio 44115
7 (216) 781-8880

8 On behalf of the Plaintiffs;

9 John V. Jackson, II, Esq.
10 Jacobson, Maynard, Tuschman & Kalur
11 1001 Lakeside Avenue
12 Suite 1600
13 Cleveland, Ohio 44114-1192
14 (216) 736-8600,

15 On behalf of the Defendants
16 R. Alonso, M.D. and
17 Garfield Pathology Associates, Inc.;

18 Patrick J. Murphy, Esq.
19 Jacobson, Maynard, Tuschman & Kalur
20 1001 Lakeside Avenue
21 Suite 1600
22 Cleveland, Ohio 44114-1192
23 (216) 736-8600,

24 On behalf of the Defendants
25 Bert M. Brown, M.D.
and Cleveland ENT.

1 GEOFFREY MENDELSON, M.D., of lawful
2 age, called by the Plaintiffs for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF GEOFFREY MENDELSON, M.D.

8 BY MR. YOUNG:

9 Q. Doctor, would you state your name and spell your
10 last name for the record, please?

11 A. Geoffrey Mendelson, M-e-n-d-e-l-s-o-h-n.

12 Q. Perhaps we should have you spell your first name
13 for the record as well as for the reporter.

14 A. G-e-o-f-f-r-e-y.

15 **a.** And Dr. Mendelson, you are a board certified
16 physician?

17 A. Yes.

18 Q. In what area?

19 A. Pathology.

20 Q. And do you have any specialty within the
21 practice of pathology?

22 A. Well, I specialize mainly in surgical pathology
23 and cytology.

24 Q. And you are on the staff here at Mt. Sinai?

25 A. I am Director of Laboratories here at Mt. Sinai.

Q. As Director of Laboratories, what do you do here?

A. I'm responsible for running the laboratories and together with four other colleagues, we are responsible for all the diagnostic tests that are done here in anatomic pathology and between us we take responsibility for the various clinical laboratories.

Okay. As director of the laboratories, do you participate in the quality control within the laboratories here at the hospital?

Yes, I do.

And what type of testing is under your direction and control here?

All laboratory testing is under my control.

And what do we mean by that? Can you describe that for the layperson?

All specimens that come to the laboratory for any test, blood tests, blood chemistries, blood levels, immunology, cytology, which includes Pap smears and needle aspirations, surgical pathology, which involves the examination of tissues at biopsy, tissues that are excised from the body. The blood bank is part of the laboratories. I'm in charge of all areas in

which blood work, fluids or tissues is taken from the body and come for testing.

Q. Okay.

4 A. Are done in the lab.

5 Q. Are you an employee of Mt. Sinai?

6 A. No, I'm not.

7 2. Subcontractor here?

8 4. I'm a subcontractor here.

9 2. Part of an independent group of some sort?

10 1. Part of a group of pathologists, yes.

11 2. What is the name of that group?

12 1. Mt. Sinai Pathology Consultants.

13 2. And how many pathologists are actually within
14 the group?

15 1. We have as of now four partners and we have one
16 employee, one physician, a young physician whose
17 joined the group.

18 Q. Five pathologists?

19 A. Yes.

20 2. Are all services of the group rendered here at
21 Mt. Sinai?

22 1. Yes.

23 2. In other words, you don't do subcontracting work
24 for other hospitals in the area?

25 1. Oh, no. Absolutely not.

1 Q. Or anything of that sort?

2 A. No.

3 Q. Do you take in work from physicians in the area
4 in addition to what's done here within the
5 confines of the Mt. Sinai Hospital?

6 A. We take in work from physicians. Our laboratory
7 takes in work from dialysis centers and nursing
8 homes. That is exclusively blood work
9 obviously.

10 Q. And all of the work that is done here within Mt.
11 Sinai you've contracted to handle, I assume?

12 A. That is correct.

13 Q. Any specimens taken here actually at the
14 hospital?

15 A. That is correct.

16 Q. For what period of time have you been here at
17 Mt. Sinai?

18 A. Since 1987.

19 Q. And when you came here in 1987, was it as
20 Director of Laboratories?

21 A. I came here, I spent a year here on the staff,
22 Dr. Seigler at that time was Director of
23 Laboratories. He was about to retire and I came
24 here with the intention of becoming director.

25 Q. And was it the same group of pathologists that

1 had the contract to handle this responsibility
2 at that time when you came here?

3 A Only one of the original pathologists is still a
4 part of the group, Dr. Seigler, who was
5 director, and is now semi-retired, almost
6 completely retired. He still does some teaching
7 here, but he's not part of the group any more.
a He doesn't do diagnostic work.

9 Q But essentially it was the same professional
10 group, there is a continuity of the professional
11 group?

12 A There's a change in the corporate name which
13 occurred probably around 1984 or '85.

14 Q All right.

15 A But essentially it's a continuity of the same
16 group, same contract, yes.

17 Q Prior to coming to Mt. Sinai, what did you do
18 professionally?

19 " I completed my residency in 1979 at Johns
20 Hopkins. Following that I spent four years on
21 the staff at Johns Hopkins as a pathologist and
22 as an assistant professor of pathology at Johns
23 Hopkins Medical School.

24 In 1983 I moved to Cleveland. I was
25 Director of Surgical Pathology and Anatomic

1 Pathology at University Hospitals and I still
2 have an appointment as an Associate Professor of
3 Pathology at the medical school.

4 Q. And do I understand that you were Director of
5 Labs there for a period of time?

6 A. No, I was Director of Surgical Pathology for a
7 couple of years and then became Director of
8 Anatomic Pathology.

9 Q. Now, does surgical pathology here at Mt. Sinai
10 fall within your responsibility as Director of
11 Laboratories?

12 A. All laboratories are within it. I have a
13 Director of Surgical Pathology who is
14 responsible for it but I have oversight and
15 responsibility for the lab.

16 Q. And who is that?

17 A. Dr. Lash.

18 Q. For what period of time has he been with Mt.
19 Sinai?

20 A. I've been here seven years. He's probably been
21 here six years.

22 Q. For what period of time were you with University
23 Hospitals?

24 A. Four years.

25 Q. And was, in that entire time did you deal with

1 surgical pathology?

2 A Yes, I did.

3 Q You came to the city with you say in 1983?

4 A Yes.

5 Q Doctor, are you insured by PIE?

6 MR JACKSON: Objection, but you
7 may answer.

8 A Yes.

9 Q Okay And do you participate in the business of
10 PIE in any manner?

11 A No.

12 Q All right You do review claims for them from
13 time to time or records and matters that come
14 within their responsibility, correct?

15 MR JACKSON Objection. You're
16 distinguishing PIE from reviewing them for
17 the law firm who is handling --

18 MR YOUNG: I am.

19 MR JACKSON: You may answer.

20 A I review cases for the law firm of Jacobson,
21 Maynard, Muschman & Kalur.

22 Q Have you ever done any review work for people
23 within PIE other than Jacobson, Maynard?

24 MR JACKSON: Exclusive of claims
25 that are being handled by the law firm?

1 MR. YOUNG: Exclusive of reviewing
2 claims with an eye towards testimony.

3 A. I'm not sure that I understand the question, I
4 guess.

5 Q. Have you ever reviewed claims for Jacobson,
6 Maynard or PIE to determine their defensibility
7 separate and apart from the issue of being
8 retained as an expert with an eye towards being
9 a witness?

10 MR. JACKSON: Objection.

11 A. I've reviewed cases for Jacobson, Maynard
12 Tuschman & Kalur. I've been asked to give an
13 opinion on those cases. Do I think this is a
14 breast cancer, do I think this is a this or
15 that.

16 There have been cases that I've reviewed
17 for them where, you know, I've said that's what
18 it is, a mistake was made. I don't know, I
19 guess I'm unable to distinguish your question
20 PIE from Jacobson, Maynard.

21 My contact has been with Jacobson, Maynard,
22 with the attorneys at the law firm.

23 2. Always an attorney within the law firm would
24 contact you and ask you to review a matter?

25 A. Correct.

Q. We'll talk about that a little later.

But you've been retained in this case to give an opinion, is that correct?

A. That is correct.

Q. And you've been retained by Mr. Jackson who first approached you?

A. That's correct.

a. How did he first approach you concerning this case?

A. Again, my recollection is that sometime in 1993, and I don't remember when, but at sometime in 1993 he called me, he had a case, asked if I would look at some biopsies for him.

I looked at those biopsies and then in April of this year he wrote me a letter and asked if I would, he sent me some medical records, sent me the slides and asked if I would review the material and issue a report.

Okay. When you first talked with him in 1993, did he give you any factual background other than simply slides?

A. I honestly don't recall that meeting with any detail. He brought some slides for me to look at and as is my practice, I don't just look at slides. He told me a little bit about the case

1 and showed me the biopsies, asked me what I
2 thought briefly and said if I want a report from
3 you, I'll get back to you.

4 Q. Okay. And do you recall what slides he brought
5 with him when he had that meeting with you?

6 A. My recollection is that it's the same slides I
7 reviewed prior to issuing this report in April
8 which is slides from a biopsy of a tongue or
9 oral lesion.

10 Q. Did they come from Marymount or Medina or both,
11 if you know?

12 A. The slides I reviewed were from Marymount.

13 Q. All right. And in that initial meeting did you
14 also review some slides from Medina?

15 A. I don't recall.

16 Q. Have you ever reviewed slides from Medina, to
17 your knowledge?

18 A. Yes.

19 Q. And when did you review those?

20 A. Recently, within the last week or two.

21 Q. Now, you prepared some report or a report for
22 Mr. Jackson dated June 23, 1994, correct?

23 A. Correct.

24 Q. And do you recall what you'd reviewed before
25 preparation of the report?

1 A. Before I reviewed the report?

2 Q. Before you wrote the report.

3 A. I reviewed the medical records and pathology
4 slides representing the biopsy taken from the
5 base of the tongue.

6 Q. I see in the first paragraph of your report you
7 say "at your request I have reviewed medical
8 records in the case of Allan Boyd."

9 Do you know what records?

10 A. I reviewed them very briefly because I didn't, I
11 reviewed only what was pertinent to my reviewing
12 the slides and issuing this report. I reviewed
13 medical records from Medina Hospital and also
14 two admissions to Akron General Hospital from
15 November, 1990 and December, 1990.

16 2. Okay. You're looking at something here as you
17 say that.

18 What do you have before you that details
19 what you reviewed? Here we have reference on a
20 letter dated April 27, 1994 from Mr. Jackson to
21 records, hospital records of Marymount and
22 Medina. But there is only a reference to slides
23 from Marymount.

24 That's correct.

25 At the time that you initially received this in

1 April, did you have those Medina slides as well?

2 A. Not to my recollection. I received those after
3 that report. I received those more recently.

4 Q. And when you say more recently, you're talking
5 about within the last few weeks?

6 A. I looked at them within the last couple of
7 weeks.

8 Q. As a result of looking at those slides, have you
9 formed any opinions which are not contained in
10 your report of June 23, 1994?

11 A. The slides show squamous cell carcinoma of the
12 tongue as well as squamous cell infiltrating
13 within soft tissues.

14 Q. Do you intend to express any opinion in this
15 case that is not included in your report of June
16 23, 1994?

17 A. There may be some issues on which I would
18 express an opinion that I subsequently
19 formulated, particularly after reading the
20 deposition of Dr. Shumrick. So I would have
21 some opinions there.

22 There might be some other, you know, some
23 other opinions related to this case. I can't
24 think offhand. There may be.

25 Q. Have you talked with Mr. Jackson about

1 supplementing this report and any opinions he
2 would like to include in your testimony that
3 were not included in that report of June 23rd?

4 A. Mr. Jackson has not asked me to issue any other
5 report.

6 Q. And he has not expressed to you the desire to
7 address any opinions not included in that
8 report?

9 A. Not at this point.

10 Q. Doctor, in rendering an opinion in this case,
11 what do you believe your area of expertise to be
12 bearing upon the issues in this case? How do
13 you feel qualified as an expert to render an
14 opinion in this case?

15 A. I'm an experienced pathologist. I've, I have
16 had an interest in cancer, the pathology of
17 cancer ever since I started my training and
18 certainly the pathology of cancer has been a
19 focus of most of what I have done and written
20 about.

21 And I think that those two, those two
22 factors, my experience, a lot of experience in
23 all sorts of pathology, including oral cavity, a
24 good deal of knowledge about the behavior of
25 cancers.

1 Q. You believe that your expertise extends to the
2 treatment of cancer?

3 A. I do not treat patients and I certainly would
4 not give opinions on treatment, on specifics of
5 treatment.

6 Q. Does your expertise in your opinion extend to
7 the probability of survival from cancer with
8 proper treatment?

9 A. It might. It might, yes.

10 Q. When you say it might, what do you mean?

11 A. It would depend on how specific the question was
12 and how it, you know, and what it related to.

13 Q. Do you believe that in expressing an opinion
14 concerning -- let me ask it this way.

15 Essentially you would defer to an
16 oncologist or a surgeon in terms of treatment of
17 cancer and his opinion concerning that, would
18 you not?

19 . I would defer to an oncologist or a surgeon in
20 terms of treatment, yes.

21 Q. In other words, as I understand it, a
22 pathologist takes a look at the slide, diagnoses
23 cancer, stages cancer and that information is
24 taken to the clinician in order to form a
25 treatment plan, correct?

1 A. In part correct. Again, depending on the nature
2 of the practice, it is the pathologist also who
3 provides the clinician with data on survival, on
4 modes of treatment.

5 Again, it depends on the situation and
6 that's why I say, you know, my expertise in
7 treatment would be -- I might have expertise, I
8 might not. It would depend and at this hospital
9 we work with our clinicians. We give them the
10 data. We make suggestions.

11 It is not uncommon, in fact I would say
12 it's almost the rule. For example, when a
13 breast cancer is excised in this hospital, that
14 I or one of my colleagues is the person who
15 would recommend to the surgeon that he or she
16 re-excise the lesion, that it does involve the
17 margins, in my opinion, there's enough this or
18 that that you need to go back and do this or
19 that or consider radiation.

20 So yes, we do provide data that would be
21 pertinent to prognosis, outcome, risk for
22 reoccurrence, whatever, and that is frequently
23 present in our pathology reports. It's not
24 always. It depends on the situation.

25 Q. Do you believe that the standard of care with

1 regard to a pathological practice requires you
2 to do such things, to recommend treatment and to
3 participate in the consideration of what's
4 proper for the patient in that manner?

5 A. The standard of care, and again it depends on
6 the nature of the biopsy or excision, the
7 standard of care requires that if appropriate,
8 that the surgeon or oncologist be given
9 information on whether margins of an excision
10 are negative or involved by a tumor.

11 Q. All right.

12 A. Standard of care requires that the lymph nodes
13 have been removed, that the presence or absence
14 of metastatic disease is noted.

15 But again, it depends on the situation. It
16 depends on the lesion. It depends on the type
17 of procedure that is being done, an excision
18 versus a biopsy and so forth.

19 Q. Are you familiar with generally accepted
20 standards for staging oral cancer?

21 A. I am familiar with generally accepted standards
22 for staging any cancer.

23 Q. With regard to oral cancer, what do you
24 understand the stages or how do you define the
25 stages?

1 A. Well, the stage of any tumor is dependent on the
2 size of the tumor, the extent to which it
3 invades, presence or absence of lymph node
4 involvement, presence or absence of distant
5 metastasis.

6 So the staging is based on the tumor, the
7 lymph node status and the metastatic, metastasis
8 status. The staging criteria vary from tumor to
9 tumor. The size of a tumor for -- let me back
10 up a little bit. The staging is based on
11 whether a tumor is non-invasive, invasive, the
12 extent to which it invades. That would be the T
13 stage or tumor stage. Lymph node is just
14 negative, zero or involved one or a distant
15 group of lymph nodes would be two, regional
16 local lymph nodes one and metastasis is either
17 negative, zero or one.

18 The criteria for staging different cancers
19 vary. The criteria for colon cancer are
20 different for the criteria of breast cancer,
21 oral cancer.

22 1. Let's deal with oral cancer.

23 Are you familiar with the stages of that?

24 a. Again, I would, you know, we use staging books.
25 I don't think that anyone necessarily has to

1 recall specifically whether a T1 lesion is two
2 centimeters or one centimeter. We all have a
3 TNM, American College of Cancer next to our
4 microscopes and when we deal with a cancer, if
5 appropriate, if it's been an excision, not just
6 a biopsy, then we stage it.

7 I will be very honest with you, the size
8 of, a tumor size related to staging an oral
9 cancer is not something I need to remember or I
10 care to remember, but right or wrong, my
11 recollection is two centimeters, less than two
12 centimeters is a stage one. But I see no need
13 to recall those kinds of details.

14 Q. I understand. I am just trying to understand
15 what it is that you do here and what your level
16 of understanding is.

17 A. We do cancer staging on every tumor removed in
18 this hospital. We use the standard TNM staging
19 and one of my colleagues is the TNM police and
20 he surveys our reports every year, every month,
21 sorry, to - -

22 Q. Make sure it's right?

23 A. Make sure we're doing it correctly.

24 Q. Do you report the staging of tumors to any
25 central authority for collecting data on oral

1 cancer?

2 A. Our staging is part of our pathology report. So
3 if a tumor has been excised, the TNM stage is
4 part of that report. A copy of the report goes
5 to the tumor registry downstairs and the data is
6 then collected and collated by the tumor
7 registry downstairs, correct.

8 Q. And what is the tumor registry, what are we
9 talking about?

10 A. The tumor registry is two meticulous ladies who
11 work in the medical records department who keep
12 records on all tumors, keep track of them in
13 their part of the medical records department
14 essentially.

15 Q. Why do you have someone who does that, Doctor,
16 two ladies who keep information on size and
17 staging of tumors?

18 A. We keep records on all tumors for accreditation,
19 for accreditation as a cancer center we need to
20 do that. Records are kept of all cancers and
21 the data are used for studies, for ongoing
22 studies and they are used as part of treatment.
23 It is part of the completeness of the chart is a
24 complete TNM stage.

25 Q. And when we talk about TNM, we're talking about

1 the staging process which is published by the
2 American Joint Committee on Cancer, correct?

3 A. Yes.

4 Q. In other words, the joint committee has put out
5 standards, constant standards concerning tumors?

6 A. Clinicians, pathologists. There is a clinical
7 stage. There is a pathology stage. Pathologic
8 stage is often different from the clinical
9 because often we are the people that see the
10 lymph nodes or see a biopsy.

11 Q. But essentially that reporting requirement or
12 staging requirement is done so you have a
13 uniform language so that there's an
14 understanding concerning tumors and some
15 predictability of the disease, would you agree?

16 A. Yes.

17 Q. Was there any TNM consideration that went into
18 your opinion in this case?

19 A. Well, again, I'm not sure specifically whether
20 you're referring to my examination of the
21 original biopsy slides from Marymount or how I
22 would stage it based on what I now know about
23 the whole case. And the staging would be
24 dependent on time.

25 So do I have an opinion, I think my opinion

1 is that you could not give a T stage for the
2 original biopsy from Marymount.

3 Q. Why is that, Doctor?

4 A. I would need to know that that was an excisional
5 biopsy. I would also need to know that the
6 tumor had been completely excised. If it was an
7 excisional biopsy, that's a small biopsy. The
8 fragments are four or five millimeters,
9 somewhere less than a centimeter.

10 Q. The depth you're talking about?

11 A. Well, yes, the depth.

12 Q. The depth was --

13 A. The depth based on what I see, on what I've seen
14 is very difficult to evaluate from those, from
15 those biopsies.

16 Q. But it would appear to be four millimeters?

17 A. Oh, the size of that lesion on the biopsy slide
18 is small. But the nature of the biopsy is such
19 that I cannot guarantee that there isn't
20 residual lesion left behind. I think my opinion
21 though is expressed in the letter here.

22 Q. Let's back up and think about the size of the
23 biopsy.

24 A. Okay.

25 Q. Have you read the deposition of Dr. Brown?

- 1 A. No.
- 2 Q. You've never had that?
- 3 A. No.
- 4 Q. Mr. Jackson didn't provide that to you at any
5 point in time?
- 6 A. No.
- 7 Q. Has he told you what Dr. Brown's testimony was
8 concerning the nature of the biopsy and what it
9 was he intended to do?
- 10 A. The information I have about what Dr. Brown did
11 really is from Dr. Shumrick's deposition where
12 it is stated that this was an excisional biopsy.
- 13 Q. And in Dr. Shumrick's opinion?
- 14 A. Correct.
- 15 Q. Let's talk about your opinion.
- 16 Have you taken a look -- well, you've
17 certainly seen the Marymount Hospital record and
18 the pathology report?
- 19 A. Correct.
- 20 Q. You've seen the gross description contained
21 therein?
- 22 A. Correct.
- 23 Q. You've seen the records of Dr. Brown in which he
24 refers to it as an excisional biopsy?
- 25 A. Correct.

1 Q. What you haven't had the benefit of his testimony
2 to understand what it was he intended to do and
3 how he ~~oox~~ this biopsy?

4 You know, my opinion here is not based on the
5 surgical procedure that was done. So that would
6 not be something that I would have reviewed. It
7 may have been in the medical records on an ob-
8 note but that is really not what I reviewed. I
9 don't feel that it was pertinent to my opinion.

10 A Well, the pathologist is never there when the
11 specimen is taken or very seldom there. Would
12 you agree?

13 That's correct.

14 A And what the surgeon tells the pathologist he
15 will clinically is important in consideration of
16 the case. Wouldn't you agree?

17 A Boy, in some instances yes. In other instances
18 no because as a pathologist, I am reporting on
19 what I see in the slide. The physician,
20 surgeon, gynecologist, it doesn't matter, the
21 person who took that biopsy then interprets my
22 result in association with his clinical
23 findings.

24 Q. I understand But were talking about the size
25 of the lesion now. And were talking about

1 whether it's an excisional biopsy or incisional?
2 A. That is the surgeon's call. If he has excised
3 it, he has excised it and I certainly don't
4 argue that. My report is going to indicate that
5 this is a small fragment of tissue with whatever
6 it is.

7 If it's a small biopsy, and it's clear that
8 it's a push biopsy and I can tell, then I don't
9 comment on margins because it's not applicable.

10 If it's a small ellipse of tissue, I will
11 comment on margins. It is then up to the
12 surgeon to say, oh, I know the margins
13 involved. I didn't take it all. That was just
14 a partial biopsy.

15 So again, there are certain situations
16 where I will call the surgeon and tell him that,
17 you know, it is very close to the margin, the
18 edge, I can't be sure, was this an excision,
19 wasn't it.

20 Really, that's the surgeon's call. He sees
21 the lesion. He feels the lesion. He touches
22 the lesion, he looks at the lesion, he takes a
23 bit of tissue and he's really the one that knows
24 what he took. I don't want to say I don't care
25 what he took, but it doesn't influence, for the

1 most part my pathology report is not dependent
2 in every case on what he did.

3 Q. As I understood your testimony earlier, I
4 believe you testified that you couldn't tell
5 from the size of the lesion because you didn't
6 know if it was an excisional or incisional
7 biopsy, correct?

8 A. That's correct.

9 Q. All right. Now, you don't have the benefit of
10 Dr. Brown's testimony and I'll paraphrase it.

11 Essentially he said he very carefully
12 palpated this lesion and did an excisional
13 biopsy, cutting out everything that he could
14 identify himself as he did the surgical
15 procedure.

16 That would be good practice, would it not,
17 to do that?

18 A. Yes. But again, I'm not a surgeon. I don't
19 want to comment and give opinions on whether
20 what he did was surgically correct or not. That
21 would be the norm.

22 If it's a small lesion that can be excised
23 easily at the time of biopsy, an excisional
24 biopsy is fine. Again it's the surgeon's call
25 whether he does incision or excisional biopsy.

1 Q. Essentially even with an excisional biopsy you
2 can miss tumor tissue, can you not?

3 A. Yes.

4 Q. That's why the pathologist takes a look at the
5 excision and determines whether the margins are
6 free and clear?

7 MR. JACKSON: Objection. The
8 pathologist looks at what?

9 Q. Looks at the specimen.

10 MR. JACKSON: You said excision.

11 MR. YOUNG: Let me withdraw it and
12 ask it this way.

13 Q. In your opinion in this case, is the size of the
14 lesion on the tongue in November of 1989 a
15 consideration?

16 MR. JACKSON: To whom?

17 A. I don't understand.

18 Q. In your consideration of the case.

19 Is the size of the biopsy or the size of
20 the lesion important?

21 MR. JACKSON: On the tongue or in
22 the biopsy?

23 A. I honestly don't understand the question.

24 Q. All right. On November 22, 1989 Allan Boyd went
25 in to Dr. Brown and he had a lesion on the

1 tongue.

2 A. Okay.

3 Q. Dr. Brown testified that he did an excisional
4 biopsy?

5 A. Okay.

6 Q. Is the size of the lesion that was present on
7 November 22, 1989 important in your
8 consideration of the issues in this case?

9 A. I still don't understand the question.

10 Q. What is it that you don't understand?

11 A. I really don't understand what you mean is the
12 size of the lesion important in my consideration
13 of this case.

14 Q. Well, you draw the conclusion that the cancer
15 had metastasized on November 22, 1989, correct?

16 A. Well, I don't testify to a date on which it
17 metastasized. I think my opinion is that
18 metastasis was present at the time, so that may
19 be a subtle difference, but I would hate anyone
20 to think that I am predicting a specific day on
21 which it metastasized.

22 Q. As I understand it from Mr. Jackson's questions
23 to other experts, that will deal with the
24 doubling time theory, correct?

25 A. Correct.

1 Q. But essentially in your consideration of whether
2 there had been metastasis of this lesion prior
3 to November 22, 1989, is the size of the tumor,
4 does the size of the tumor at that time existing
5 on the tongue play any part in your
6 consideration?

7 A. I'm still not sure I understand the question.
8 As -- well, scratch that. Small tumors can
9 metastasize. We know that. In looking at the
10 original biopsy, there are two pieces of
11 tissue. The lesion is present at an edge of the
12 tissue. I'm not sure if that is margin or not.

13 Those small, you know, again, when an
14 excision is done, we make an attempt to ink the
15 margins, if possible. If a piece of tissue is
16 fragmented and we don't know margins, we don't
17 ink it because that creates a false result.

18 So in the original biopsy I'm not sure
19 whether the margin is involved or not. I don't
20 know what the size of that original lesion was.

21 And so I'm not sure how to answer your
22 question about whether the size of the tumor is
23 a factor. I don't know what the size of that
24 original lesion was. The only notes I have,
25 what I took is that he had a small white pimple

1 on the tongue. I don't know whether or not the
2 entire lesion was removed when that biopsy was
3 done.

4 Q. You've just testified that small lesions can
5 metastasize.

6 A. Correct.

7 Q. It is less likely for a small lesion to
8 metastasize than for a large lesion, would you
9 agree?

10 A. That is correct.

11 Q. In determining whether in your opinion this
12 lesion had metastasized prior to November 22,
13 1989, do you believe it's important to
14 understand the size of the lesion on that date?

15 A. Not really because small lesions can
16 metastasize. And so whether the lesion was four
17 millimeters or 12 would not impact on my
18 opinion.

19 Q. Would not impact on it?

20 A. No.

21 Q. You believe that you can statistically through
22 the doubling theory determine roughly the time
23 of metastasis, and that is more reliable than
24 the size or thickness of squamous cell carcinoma
25 on the tongue?

1 MR. JACKSON: I object because that
2 is a misstatement of his opinion.

3 MR. YOUNG: I'm asking,

4 MR. JACKSON: You're misstating
5 it.

6 A. If the biopsy had shown -- let me reword that.
7 If a biopsy shows only in situ carcinoma, which
8 implies non-invasive, that would be critical.
9 Whether that was a two centimeter patch of in
10 situ carcinoma or five millimeter patch of in
11 situ carcinoma would be inconsequential.

12 So the factors that are important for me
13 are not necessarily size alone but the presence
14 or absence of invasion and it is the presence or
15 absence of invasion that is important.

16 I lost a very close friend with a skin
17 cancer, melanoma that was in the category of 99
18 percent and better cure and it metastasized.

19 As a pathologist I've seen small, too many
20 small cancers metastasize that size alone is not
21 critical for me. The TNM staging is merely a
22 guide to possible outcome. It is not a rock
23 solid rule.

24 Q. I'm not asking you if it is rock solid, and I
25 understand it's simply a guide.

1 My question is do you believe that the size
2 of the tumor on November 22, 1989 is important
3 to the consideration of whether statistically in
4 probability this tumor had metastasized prior to
5 that date?

6 MR. JACKSON: I object. He
7 answered that at least twice already. Is
8 there a better answer that you can give to
9 him, Doctor?

10 A. All I can say is statistics are only statistics
11 and that is not, when I look at the lymph node
12 metastasis and the subsequent course of this
13 patient, the fact that the lesion was three,
14 five, 10, 12, 15 millimeters doesn't influence
15 me one way or the other because I'm still faced
16 with lymph node metastasis that needs to be
17 explained.

18 Q. Do you have an opinion concerning the size of
19 the lesion, the tongue lesion on November 22,
20 1989?

21 A. No.

22 Q. Would you disagree with Dr. Shumrick or others
23 who say it is a superficial lesion, very small
24 lesion?

25 A. I have no idea because I don't believe that the

1 lesion was entirely excised at the time. We
2 know it wasn't because I have a biopsy 10 months
3 later that shows, from that same area that shows
4 recurrent disease.

5 Q. All right.

6 A. And that recurrent disease is invasive. I think
7 a critical point here is that in October when
8 this lymph node was discovered and the surgeon
9 went back and looked in the oral cavity, he
10 couldn't see anything.

11 And the fact he did a biopsy from the area
12 of the previous biopsy and it shows invasive
13 carcinoma, significant invasive carcinoma, not
14 superficially invasive, and that's 10 or so
15 months later, and that lesion still wasn't
16 visible.

17 So the fact that Dr. Boyd indicates a white
18 pimple doesn't indicate to me how large the
19 tumor was at the time he biopsied it. 10 months
20 later clinically there didn't seem to be
21 evidence of tumor and there may well have been,
22 and in my opinion, probably was a more
23 extensively invasive tumor that just was
24 invisible and we get back to my point that I
25 don't know how large that tumor was when it was

1 biopsied.

2 You asked me if the size is important and I
3 tell you I don't know how large it was because
4 there was probably at that time residual tumor.

5 My opinion is it had already metastasized
6 by the time that biopsy was done.

7 MR. JACKSON: You said Dr. Boyd, I
8 believe you meant to say Dr. Brown.

9 THE WITNESS: Thank you.

10 Q. The tongue tumor that was excised in 1990, in
11 your opinion, is that the same tumor that was
12 present in 1989 on the tongue?

13 A. It's from the same area and my opinion is that
14 it's the same tumor.

15 Q. All right. Is it your opinion that that is the
16 primary tumor which metastasized causing Allan
17 Boyd's death?

18 A. It was my opinion that it is the same tumor.
19 Dr. Shumrick has brought up the possibility of a
20 second tumor. There is a lesion in the region
21 of the trachea and carina which was never
22 evaluated.

23 Dr. Shumrick has expressed the opinion that
24 based on some of the clinical, clinically
25 unusual facts in this case that the lymph node

1 metastasis might have come from a different site
2 and that is a possibility.

3 Q. You've had the opportunity to review Dr.
4 Shumrick's deposition?

5 A. Yes.

6 Q. Concerning a lesion in the trachea?

7 A. Correct.

8 Q. Did you find any evidence of that yourself in
9 your review of the medical records?

10 MR. JACKSON: Evidence of what?

11 Q. Of a lesion in the trachea.

12 A. No. And I didn't look, you know, in detail
13 through the records because my opinion, the
14 opinion that was asked of me related to the
15 pathology and I focused on the pathology of the
16 oral lesion, the metastasis, factors related to
17 metastatic disease.

18 Q. When you wrote your report on June 23, 1994, and
19 you've just testified it was your opinion that
20 it was the tongue lesion that was the primary
21 lesion which had metastasized causing this man's
22 death, correct?

23 A. Correct. At the time of that letter, yes.

24 Q. You haven't changed that opinion today, have
25 you?

- 1 A. I do not know specifically how to address Dr.
2 Shumrick's opinion. There is no, there is a
3 lesion in the trachea and carina based on his
4 deposition and I will base it on that. Might
5 that be a second lesion? It might be. It might
6 be.
- 7 Q. You haven't seen the medical records or the CT
8 Scan results or any of those things necessary to
9 have an independent opinion of your own,
10 correct?
- 11 A. No. No.
- 12 Q. And based on your review of the medical records
13 which were provided to you by Mr. Jackson, and I
14 assume that was the complete Medina chart, was
15 it not?
- 16 A. I don't know whether the chart is complete or
17 not.
- 18 Q. All of the records that he submitted to you from
19 the Medina Hospital you did review, did you not?
- 20 A. Right. Correct. Some areas in more detail than
21 others, as we've indicated already.
- 22 Q. You had reviewed those before you rendered the
23 opinion contained in your letter of June 23rd?
- 24 A. Correct.
- 25 Q. Have you reviewed any medical records which

1 would alter your opinion contained in this
2 letter?

3 A. No. My opinion contained in this letter or my
4 opinions contained in this letter relate to the
5 original biopsy and they relate to the
6 metastatic squamous cell carcinoma in the lymph
7 nodes, okay?

8 Q. I'm sorry, say that again?

9 A. My opinions in this case relate to the original
10 biopsy.

11 Q. All right.

12 A. I will certainly give an opinion on what the
13 subsequent biopsy of the oral lesion in October
14 showed. And that's certainly no secret. That
15 is a squamous cell carcinoma.

16 And my opinions relate to the presence of
17 metastatic disease in the cervical lymph nodes
18 and how long those metastases have been present,
19 whether they came from another site or not I
20 think is immaterial. My opinion is not going to
21 change in terms of those lymph nodes having
22 contained metastatic disease at the time the
23 original lesion was diagnosed and prior to that.

24 Q. Well, in June when you wrote this report, you
25 read the medical records and it was your opinion

1 at that time that the tongue lesion had
2 metastasized, correct?

3 4 Correct

4 Q Now, you have read no medical records, and
5 you have looked at no other medical trial other
6 than the proposition of Dr. Shumrick which would
7 cause you to question your conclusion, correct?

8 A Let's back up a little bit because you may be
9 putting words into my mouth though or telling me
10 what I said and when I really didn't, didn't say
11 it.

12 When more critical question in this case is
13 whether excision of the lesion in No. 1 or
14 Decker would have prevented metastasis. It
15 can be stated to a reasonable degree of medical
16 probability that metastasis had already occurred
17 at the time of the original biopsy which was
18 misinterpreted, at the time the original biopsy
19 which was misinterpreted was performed.

20 The cervical lymph node metastases were
21 significantly larger than the primary tumor. At
22 the very least they were several centimeters in
23 diameter. And based on that it was my opinion
24 that metastasis was already present at the time
25 the tongue was biopsied and before that

1 So yes, what you say is correct, my opinion
2 was that it came from the tongue. I do not know
3 how to address Dr. Shumrick's possibility that
4 it came from somewhere else. That is absolutely
5 certainly a possibility. What I would have
6 to --

7 Q. Let me stop you right there. When you say it's
8 a possibility, is it a possibility based on your
9 review of the medical records or you having
10 reviewed his deposition?

11 A. It is a possibility on my having reviewed his
12 deposition.

13 Q. All right. Go ahead.

14 A. Maybe the best way to phrase it, regardless of
15 where the metastasis has come from, metastatic
16 tumor was already present in those lymph nodes
17 based on what we know about tumor behavior in
18 November of 1989 when that original biopsy was
19 performed.

20 Q. Let me back up to the last paragraph of your
21 report in which it says "the cervical lymph node
22 metastases were significantly larger than the
23 primary tumor," what relevance does that have?

24 4. The relevance of that is that this is a slow
25 growing tumor. The squamous carcinoma in the

1 mouth is a slow, is a slow growing tumor and the
2 fact that the lymph node metastases are larger,
3 as large or larger than the mouth lesion
4 indicate that that metastasis has been present
5 for a long time and that metastasis occurred
6 early.

7 This is not a huge oral cancer. We see
8 some fairly big oral cancers. This is not a
9 large oral cancer.

10 Q. That being that shown on November 22, 1989?

11 A. That's shown in October of 1990 when it was
12 rebiopsied.

13 Q. All right.

14 A. And in the space of those 10 months or 11
15 months, let's call it 11 months, that tumor in
16 the mouth has not grown very much. It is still
17 not visible as a growth. It's a flat lesion
18 that's growing down. It is not a large lesion.
19 And based on what that primary tumor has shown
20 us about its growth rate, it reflects on the
21 metastasis.

22 The metastasis, metastatic tumor will grow
23 at about the same rate as the primary tumor.
24 There are some, there may be some variations and
25 some differences, but when we see a small

1 primary tumor with a large metastasis, it does
2 indicate that metastasis occurred early. It
3 does indicate that metastasis occurred early.

4 The patient's oral cancer is giving us a
5 live, is giving us live evidence as to how fast
6 this tumor is growing. I think it's critical.

7 Q. But we know that the metastatic tumor and the
8 primary tumor or the tongue tumor grew at two
9 different rates, do we not?

10 A. Late during the course of the disease.
11 Certainly between, let us say between October
12 and his death, that late during the course of
13 the disease, certainly metastatic tumor begins
14 to coalesce and you end up with a more virulent
15 disease.

16 But in lymph nodes, in lymph nodes the
17 tumor grows, tumor spreads to a lymph node and
18 the tumor then grows within that lymph node.
19 It's a little bit different than the
20 dissemination of tumor either within soft
21 tissues or within lung or liver.

22 Q. Does oral cancer metastasize as other cancers?
23 Essentially when you say that the metastasis
24 grows pretty much at the same rate as the
25 primary tumor, is that true of cancer?

1 MR. JACKSON: Say that again?

2 MR. YOUNG: He just testified that
3 metastasis grows at pretty much the same
4 rate as the primary tumor.

5 Q. Correct?

6 A. Generally, yes.

7 Q. All right.. And is that true of cancer as
8 opposed to just oral cancer?

9 A. It is true, again, it is true of most cancers.
10 There are certainly some virulent types of
11 cancer where growth may change. But squamous
12 cell carcinomas are not rapidly growing tumors.

13 We know that both clinically and from
14 experimental studies and we can base, we can
15 base our knowledge of how the tumor behaves on
16 what the oral cancer in this patient did, and
17 that is it grew slowly.

18 It's a well differentiated squamous cell
19 carcinoma that is not a rapidly growing tumor.
20 If you look at the sections of those tumors, of
21 the slides, there are some division figures,
22 some idiotic figures, but this is not a
23 rampantly growing cancer and we know that from
24 what we can see in the slides.

25 Q. Which slides, '89 or '90?

1 A. '90.

2 Q. All right.

3 A. '90.

4 Q. Go ahead.

5 A. So we know from clinically what happened in the
6 mouth and from looking at the slides that this
7 is not one of those rapidly growing tumors, and
8 certainly a lymph node that is two and a half
9 centimeters or 2.2 centimeters, again we're
10 dealing with x-ray here, but a lymph node that
11 is in excess of two centimeters in diameter did
12 not pop up overnight,

13 Q. In a week and a half this mass grew from three
14 centimeters to seven to eight centimeters,
15 correct?

16 A. We don't know that the mass grew from three to
17 eight. A review of the records would show that
18 there were several enlarged lymph nodes which
19 varied in diameter measuring up to 2.2
20 centimeters.

21 There was a larger mass in the
22 supraclavicular area which I think is an
23 unreliable estimate of size because we know that
24 that was inflamed, that there was necrotic
25 tumor. When the first aspiration was done, the

1 surgeon appeared to get purulent or pus out of
2 that, which was clearly necrotic tumor and with
3 the inflammation, one can certainly get swelling
4 of soft tissues around it and I think that gives
5 an inaccurate size.

6 So certainly the neck mass was measured at
7 approximately seven to eight centimeters, that
8 was this mass, that was the mass that aspirated
9 that pus. The x-rays, the CAT scans showed
10 several enlarged lymph nodes ranging up to
11 approximately 2.2 centimeters.

12 Q So in determining the size, and we're talking
13 about the size and how that indicates how it had
14 grown, are you concerned, and I'm looking at
15 your report, about the seven to eight centimeter
16 mass or the 2.2 centimeter node?

17 A Well, am I concerned?

18 Q No. I'm asking how you date this tumor, how you
19 date the metastasis of the tumor.

20 Is it the seven to eight centimeter mass or
21 the 2.2 centimeter node?

22 A I will date it on the 2.2 centimeter node
23 because unfortunately within that seven to eight
24 centimeter mass we can't tell how much of it is
25 lymph node and how much is inflamed soft tissue.

1 Q. We do know there was a lot of infection and so
2 forth that was contained within the seven to
3 eight centimeter mass that could cause the
4 puffiness of the neck?

5 A. Correct.

6 Q. The more reliable predictor would be the node?

7 A. In my opinion that's the only measure I have to
8 go on because it was seen on CT Scan and it's a
9 lymph node and it's not inflamed and it wasn't
10 part of this large inflammatory mass, yes.

11 Q. And when we conclude that, we conclude it from
12 the CT Scan because those nodes weren't actually
13 removed and examined, you agree?

14 A. Correct.

15 Q. You have not seen the CT films?

16 A. No, I have not.

17 Q. You've seen the report?

18 A. Correct.

19 Q. When you received a request from Mr. Jackson or
20 at any point in time up until today, have you
21 done any research in connection with this case?

22 A. Have I done any research? No.

23 Q. Have you looked at any articles, any data
24 whatsoever concerning rates of metastasis,
25 survivability and so forth?

1 A. There was a paper here that Mr. Jackson gave me
2 given to him by Dr. Murphy. It is really not a
3 paper. Tumors of the Head and Neck from Dr.
4 Batsakis and an article of Oral Cancer in Young
5 Adults Less Than 40 Years of Age.

6 Q, When did you receive that information?

7 A. Yesterday. No. The day before. This week.
8 It's within the last two days.

9 Q. Initially?

10 A. I was out of the hospital yesterday. So it was
11 the day before.

12 Q. Initially you received the medical records and
13 the slides from Marymount Hospital, correct?

14 A. Yes.

15 MR. JACKSON: Let me correct
16 something. The doctor received all the
17 slides we received from you, including the
18 slides from Medina Hospital.

19 There were two envelopes which were
20 marked by you which I gave you back today
21 which have slides that were put into a
22 brown envelope, delivered to the doctor and
23 he had all those slides.

24 MR. YOUNG: I appreciate your
25 comments but that differs from his

1 testimony. His testimony is that the first
2 thing he saw probably back in 1993 were
3 just the slides from Marymount and he's
4 only seen within the last few weeks the
5 slides from --

6 MR. JACKSON: His memory in that
7 regard was inaccurate because in order for
8 us to get the slides back, we got them back
9 from the doctor. Those were not just
10 within the last few weeks. I am
11 representing to you that the doctor --

12 MR. YOUNG: It is your
13 representation he had them all before he
14 did the report?

15 MR. JACKSON: That's right.

16 A. And I think my testimony was that I had those
17 slides, you and I reviewed them again in the
18 last couple of weeks.

19 Q. I think that may not be what you said but we
20 will find out when it's transcribed, Doctor.

21 In any event, as I understand it, *you* were
22 supplied with medical records from Marymount and
23 from Medina, you were supplied with the slides.
24 You've received Dr. Shumrick's deposition?

25 A. Correct.

- 1 Q. Have you received his report and his
2 supplemental report?
- 3 A. I received his supplemental report.
- 4 Q. Did you receive his initial report?
- 5 A. I don't recall and I don't believe so.
- 6 Q. You've received Dr. Murphy's report, correct?
- 7 A. Yes, I have.
- 8 Q. That's an undated thing that looks like this?
- 9 A. If that's what you're talking about, I have
10 received it, yes.
- 11 Q. When did you receive that?
- 12 A. Within the last few days.
- 13 Q. Attached to that you had some pages from a
14 text.
- 15 Can you identify what that is?
- 16 A. It is from Tumors of the Head and Neck by Dr.
17 Batsakis. B-a-t-s-a-k-i-s.
- 18 Q. And the edition of that?
- 19 A. Second edition.
- 20 Q. And the pages which you received?
- 21 A. 160 and 164.
- 22 Q. And those were pages provided to you by Mr.
23 Jackson recently?
- 24 A. Correct.
- 25 Q. Any other information that you've received? You

1 did not receive the initial report of Dr.
2 Shumrick, you did receive the supplemental
3 report?

4 A. Correct. And a paper on Oral Tongue Cancer in
5 Young Adults.

6 Q. May I take a look at that? Was this also
7 supplied to you by Mr. Jackson?

8 A. Yes. That related to the report of Dr. Murphy.

9 Q. It appears this was faxed to you on August 3rd?

10 A. It was not faxed to me.

11 Q. Here we have Oral Tongue Cancer in Young Adults
12 Less Than 40 years of Age, apparently published
13 in Head and Neck, March/April 1994, correct?

14 A. Correct.

15 Q. Pages 107 through page 111.

16 Have you reviewed these articles which were
17 attached to Dr. Murphy's report?

18 A. Not in detail, no.

19 Q. Parts of these have been highlighted and
20 underlined.

21 Did you do that or did someone else do it?

22 A. Someone else did that.

23 Q. Has Mr. Jackson at any time brought data from
24 these articles to your attention?

25 A. No.

1 Q. Have you done any research on your own on the
2 issues involved in this case?

3 A. No.

4 Q. Have you given Mr. Jackson any information which
5 would guide him in understanding the issues in
6 the case?

7 A. No.

8 Q. Is there anything that you received that we've
9 not identified?

10 A. Not to my knowledge, no.

11 Q. Have you received the report or any information
12 from Dr. Stephen Haine in Mississippi?

13 A. No, All I know about that is from the
14 deposition -- no. I have not. I have not.

15 Q. The deposition of Dr. Shumrick addressed his
16 report, but you've not received the report
17 yourself?

18 A. No.

19 Q. Have you received any faxed information
20 concerning his testimony on what he saw in these
21 pathology slides?

22 A. No.

23 Q. Do you know how he interpreted these slides?

24 A. Yes, I do.

25 Q. How do you know that?

1 A. Mr. Jackson informed me of that just a few
2 minutes before we came in here.

3 Q. And what did he tell you?

4 A. He read me Dr. Haine's or Haine, Dr. Haine's
5 report in the deposition of the slides that he
6 had reviewed.

7 Q. Last night or today he would have received faxed
8 testimony which Dr. Haine offered yesterday, is
9 that what he read to you?

10 A. Correct.

11 Q. He did not show you the actual faxed copy of
12 that?

13 A. No.

14 Q. Have you received the report or any information
15 concerning Dr. Jacob's participation in this
16 case?

17 A. No.

18 Q. Have you received any information concerning Dr.
19 Brett and his opinions in this case?

20 A. No.

21 Q. Have we identified everything that you've taken
22 a look at?

23 A. We have.

24 Q. All right. Doctor, what's your understanding of
25 the statistical probability of surviving an oral

1 lesion of the mobile tongue stage one squamous
2 cell carcinoma?

3 A I don't have specific numbers for you. The
4 probability of surviving stage one, I could not
5 give you a percent. Five year survival on that
6 off the top of my head.

7 Q Can you give me a range off the top of your
8 head?

9 A Five year survival, other than saying the risk
10 for, the five year survival is better than for
11 stage two, which is better than for stage three
12 and four. No, I couldn't give you a specific
13 range.

14 Q When we talk about the probability of survival,
15 five years survival, can we equate that with the
16 probability of cure?

17 A. In oral cancer?

18 Q. Yes.

19 A. There is always the possibility of recurrent
20 disease beyond five years. Certainly by five
21 years there is, again, and I don't know the
22 numbers for head and neck surgeries for oral
23 cancers specifically.

24 There is always the possibility of late
25 recurrence. Five year survival is generally a

1 good indicator of survival for oral cancer but
2 exactly what the numbers are, I'm not sure.

3 Q. When we talk about there is always the
4 possibility of a recurrence even after five
5 years, do you have any idea on the probability
6 of recurrence after five years?

7 A. No.

8 Q. Generally in the treatment of cancer, do we
9 perceive five years survival to mean cure?

10 A. It depends on the cancer.

11 Q. With oral cancer?

12 A. I defer that.

13 Q. I should say squamous cell cancer of the mobile
14 tongue?

15 A. I would defer that to an oncologist. I just
16 don't know the numbers.

17 Q. Now, in the treatment of cancer, are you
18 involved in the treatment or the formulation of
19 plans for the treatment of cancer in any manner
20 here at Mt. Sinai?

21 A. Am I involved in the formulation? Other than
22 reviewing the slides, reviewing the pathology
23 and answering specific questions that a
24 clinician might have about that biopsy, I do not
25 specifically formulate a treatment plan.

1 Q. I'm trying to understand the level of your
2 involvement here.

3 Certainly for T1 lesions of the tongue, the
4 probability of five year survival is much
5 greater than T2, T3 is less, T4 is less than
6 that. But in terms of ascribing some
7 probability of survival to that, are you able to
8 do that?

9 MR. JACKSON: He's told you that
10 how many times now.

11 A. I think I have indicated that I do not know the
12 specific numbers.

13 Q. I'm trying to determine the relevance of your
14 conclusion that this lesion had already
15 metastasized on November 22, 1989.

16 Do you have an opinion concerning Mr.
17 Boyd's probability of survival on November 22,
18 1989, assuming that the lesion had metastasized?
19 Very poor. Again, with lymph node metastasis,
20 survival rates for squamous cell carcinoma of
21 the oral cavity are, and again, I don't have the
22 specific number, and if I'm off a couple of
23 percentage points, you know, I'll accept that,
24 but the cure rate for squamous cell carcinoma of
25 the oral cavity with lymph node metastasis is

1 probably around 15 percent, no better than that.

2 Q. You draw that from your experience or do you
3 draw it from articles that you've had the
4 opportunity to review?

5 A. I draw that from general knowledge accumulated
6 over the past many years and I believe I did see
7 a figure in one of those, in either the Batsakis
8 article or not.

9 But again, the survival rate is very poor
10 with metastatic disease, squamous cell carcinoma
11 of the tongue or oral cavity.

12 Q. With lymph node metastasis, are we talking about
13 a stage three tumor?

14 A. It depends on where the lymph node metastasis
15 is. Let's assume you are talking about head and
16 neck, about cervical lymph nodes.

17 Again, I would review the staging
18 specifically in my handbook, but the T stage
19 would be dependent upon the size of the tumor.
20 This is a lymph node positive, I believe that is
21 stage three. I have to review that.

22 Q. Do you have an opinion concerning the stage of
23 the cancer present in Mr. Boyd on November 22,
24 1989?

25 A. He had lymph nodes positive, in my opinion, in

1 November of 1989.

2 Q. And when you say lymph nodes positive, are we
3 talking about an occult metastasis?

4 A. Metastatic disease we're talking.

5 Q. Non-palpable lymph nodes?

6 A. I don't know that they were non-palpable or
7 not. I am talking about metastatic disease in
8 lymph nodes. They may have been palpable back
9 then. I have no way of knowing.

10 Q. Let's assume that Dr. Brown testified that he
11 would check the nodes and that they were not
12 palpable.

13 A. Then we're talking about, but again, I have
14 no --

15 Q. You don't have the basis to determine whether
16 they were palpable or not?

17 A. But regardless of whether they were palpable or
18 not, clinically non-palpable metastatic disease,
19 yes.

20 2. Certainly the probability of surviving is less
21 with palpable nodes than it is with occult
22 nodes, would you agree?

23 MR. JACKSON: What is an occult
24 node? What do you mean by occult node?

25 MR. YOUNG: We'll leave it to the

1 doctor.

2 MR. JACKSON: Why don't you explain
3 what you're asking?

4 Q. Do you understand, Doctor?

5 A. If you're talking about a node that is
6 clinically non-palpable, I'm not sure what the
7 difference in survival is percentage wise
8 between non-palpable node with metastatic
9 disease and palpable node with metastatic
10 disease.

11 Q. Do you know if there is a difference?

12 A. I do not know.

13 Q. All right. It's your opinion that at least
14 there was microscopic disease in the nodes,
15 cervical nodes on November 22, 1989?

16 A. At the very least microscopic disease, yes. It
17 may have been more than microscopic disease. It
18 may have even been grossly visible. I don't
19 know that.

20 Q. Are you able to determine that from your
21 doubling time theory?

22 A. From doubling time and from what we know about
23 what happened to the oral cancer over a period
24 of 10 or 11 months.

25 2. Do you have an opinion concerning how long it

takes, it would have taken these cervical nodes to go from microscopically invaded to actually palpable metastatic nodes?

A Let's rephrase it a little differently

How long would it have taken to go from microscopic to two centimeters?

Q. Yes.

A. Or 2.2 centimeters. The doubling time of squamous cell carcinoma of the oral cavity is generally in the region of about 200 days

Now, faster growing tumors, 100 days. Tumors do not double overnight. A tumor that appears to double overnight is doing so because it's inflamed because something else is happening.

Doubling times of tumors range between, oh, for the very fast growing tumors 40, 60 days, up to 200 days for some of the slower growing tumors.

Studies that have been done both clinical and experimental with squamous cell carcinoma show doubling times in the region of 200 days

Let's assume this was 100 days, let's even assume it was 50 days. You know, it doesn't matter. It takes approximately four doublings

1 for the size of a tumor in one dimension to
2 double, okay?

3 So for a one centimeter tumor to become two
4 centimeters when you look at it purely on an
5 x-ray, it requires four tumor cell doublings.

6 Q. Which requires how long?

7 A. If you're going with 100 days, it requires 400
8 days. If you want to go with 50 days, which
9 this isn't because this is not the histology of
10 this tumor, microscopy of the tumor and the
11 clinical behavior of it, because we watched it
12 grow in the mouth does not indicate that.

13 My opinion is that this is a slow growing
14 tumor, that if we look at what happened in the
15 mouth, this tumor, even if it was a pimple five
16 millimeters, six millimeters back in November,
17 11 months later it's now a centimeter, maybe a
18 little larger than a centimeter. This tumor has
19 not exploded and the tumor has grown slowly in
20 the oral cavity and that fits with what we know
21 about oral squamous cell carcinoma, they grow
22 slowly.

23 So if you look at a tumor and lymph node
24 that is two, two and a half centimeters in
25 diameter, it has probably taken, oh, I wouldn't

1 give you, you know, an exact figure, it takes
2 approximately 30 doublings before a tumor
3 reaches one centimeter.

4 Q. Would you expect those doublings to occur at a
5 predictably identifiable rate throughout the
6 growth of the tumor?

7 A. There may be some sort of incidental increase
8 due to some coalescence, but a tumor and lymph
9 node that is two and a half centimeters did not
10 pop up overnight.

11 That tumor has been there a long time. It
12 has doubled over the course probably of a few
13 years, probably over the course of a few years.

14 Q. I'm talking about the metastatic tumor.

15 A. Yes.

16 Q. Probably there for a few years?

17 A. Yes.

18 Q. But we know that it grew very rapidly in
19 October, November, in that period of time, don't
20 we?

21 MR. JACKSON: You're talking about
22 the tumor --

23 MR. YOUNG: The metastatic tumor.

24 MR. JACKSON: You're talking about
25 the seven to eight?

1 Q I'm talking about the metastatic tumor

2 A Where?

3 Q I'm asking you from your examination of the
4 records. I'm talking about the Medina General
5 Hospital records, did you determine that this
6 was a very aggressive cancer in the fall of
7 1990?

8 A. Yes It became a very aggressive tumor but what
9 you have to realize is that once this tumor is
10 in lymph nodes, and then it gets out of the
11 lymph nodes into soft tissues, it then
12 disseminates and it starts disseminating from
13 metastatic sites as well.

14 So there is a point at which the tumor will
15 gallop and become very aggressive It will
16 spread, for example, to lung and then from lung
17 it gets into blood vessels and it can go
18 throughout the body. Bone, liver, brain.

19 But here we're looking at a lymph node, a
20 contained lymph node and within a contained
21 lymph node, the tumor would be and grows

22 Might there be even two or three or four
23 separate little deposits within those lymph
24 nodes which then would be and coalesce, there
25 might have been. Does that affect my opinion?

1 No. Because the doubling times of these tumors
2 are very slow. You're talking about 11 months,
3 let's say 330 days from November through to
4 October, 300 days, it doesn't matter. That
5 tumor in those 300 days is probably done nothing
6 more than three or four doublings.

7 Has it had five or six doublings? I don't
8 know. Maybe. Seven or eight? Possibly. But
9 it doesn't affect the opinion because it
10 requires literally tens and tens of doublings to
11 reach a size of two and a half centimeters. To
12 get from half a centimeter to two centimeters is
13 going to require eight doublings approximately,
14 nine, ten, approximately eight doublings for it
15 to quadruple in diameter. That occurs over a
16 period of probably two or three years.

17 Q. When you talk about the fact that squamous cell
18 carcinoma is very slow growing and the doubling
19 rate is low, I assume that is generally accepted
20 in the medical community?

21 A. Yes.

22 Q. And you referred to studies which demonstrate
23 between 100 to 200 days.

24 What studies are those?

25 A. I do not have those specific studies here at

1 hand. These are data that are well-known in the
2 literature. They're data that I have
3 accumulated over the course of several years.

4 Q. When you say data that you've accumulated, are
5 you talking about data you've accumulated in
6 your head or articles that you actually have in
7 your possession?

8 A. Data in my head. I read the articles. I do not
9 keep every article that I read.

10 Q. So you don't have any articles somewhere that
11 would demonstrate this principle to which you
12 are just testifying?

13 A. Not that I can lay my hands on during this
14 deposition.

15 Q. What about after the deposition?

16 A. Oh, there are lots of articles. If they're not
17 in my files, they are in my library.

18 Q. Do you keep files pertaining to this issue?

19 A. Pertaining to head and neck cancer? No.

20 **a.** So you don't have any files that would contain
21 articles that support this conclusion?

22 A. If one had to keep all the medical literature
23 forever, we wouldn't be sitting in this room.
24 We'd have all our stuff here.

25 Q. I just am trying to understand. Certain

1 physicians keep certain files of things that
2 they find relevant to their practice, but you
3 don't have any of these isolated with regard to
4 this case?

5 A. No. I might. And I might be able to lay my
6 hands on them because doubling time is something
7 that has interested me over the years. Can I
8 lay my hands on them now? No. Can I lay my
9 hands on them sometime? Yes, I bet I can. I
10 probably have them in my file.

11 Q. You have a file somewhere that might have this
12 information?

13 A. Oh, absolutely.

14 Q. This is not a recent development concerning
15 doubling time?

16 A. No.

17 Q. Or understanding of tumors?

18 A. No.

19 Q. This is something that goes back into the
20 eighties and probably back into the research of
21 the fifties, would you agree?

22 A. Yes.

23 Q. Doctor, in the treatment of cancer, we're
24 concerned not only with the ability to eliminate
25 the disease, but also to retard the progression

1 and perhaps extend the person's life, are we
2 not?

3 A. Yes.

4 Q. And I think it's your testimony that Allan Boyd
5 had some element of metastasis from this tongue
6 tumor in November of 1989 and that he had
7 therefore something in the area of 15 percent
8 probability of survival at that time, correct?

9 A. Based on statistics, yes.

10 Q. And that's assuming there had been a diagnosis
11 of the condition and the proper treatment of the
12 condition at that time, correct?

13 A. I would have to defer again to an oncologist to
14 see what impact his modalities of treatment
15 would have on metastatic squamous cell carcinoma
16 of the oral cavity.

17 Q. Do you defer to an oncologist or a surgeon
18 concerning the probability of survival at that
19 point in time with metastatic disease, or is it
20 your intention to express an opinion concerning
21 the probability of survival in November of 1989?

22 A. I'm not going to express an opinion on whether
23 he would or wouldn't have survived. My opinion
24 is based on statistics and I can certainly
25 statistically, you know, give numbers, 15

1 percent, 17 percent. That I can do.

2 But I really think it's the oncologist, I
3 don't know what impact radiation or chemotherapy
4 is going to have in a case of oral squamous cell
5 carcinoma that is metastasized to lymph node,
6 not a whole lot I think. That would be really
7 the opinion of an oncologist.

8 Q. You have some thoughts concerning it but you
9 would prefer to defer to specialists in the area
10 of cancer?

11 A. I have data but I would defer to an oncologist.

12 Q. And that would also include whether his life
13 would have been extended with proper treatment
14 as opposed to giving him the probability of a
15 five year survival, would you agree?

16 A. Correct.

17 - - - -

18 (Thereupon, a recess was had.)

19 - - - -

20 Q. Doctor, looking at your report here, and your
21 prior testimony, you've concluded that on
22 November 22, 1989 this tongue lesion had already
23 metastasized based on the size of the metastatic
24 lesions in October of 1990.

25 Are you able to give me an opinion to a

1 reasonable medical probability as to the
2 earliest time when this tongue lesion would have
3 metastasized resulting in those metastatic
4 lesions?

5 A. Again, to give a specific time, no. But based
6 on the size of that, the lymph nodes in October
7 of 1990, it would be my opinion that that
8 metastatic disease was present certainly in
9 excess of the year or 11 month delay and
10 probably two, three years prior to that.

11 Q. And in terms of the latest time when it could
12 have metastasized, are you able to draw a cutoff
13 date beyond which in your opinion it would not
14 have metastasized? Is that the two to three
15 years that you're talking about?

16 A. I don't understand the question.

17 Q. Well, if the metastatic disease could have been
18 present for two or three years, I'm looking for
19 the range, not longer or less than, if you're
20 able to draw a range when the metastatic disease
21 would have been present?

22 MR. JACKSON: I think that's what
23 he just gave you.

24 A. In my opinion, the metastatic disease started,
25 tumor spread to the lymph nodes, probably two,

1 three years prior to the discovery of the oral
2 lesion.

3 Q Is it possible for these two centimeter
4 metastatic nodes to develop over the course of
5 less than 10 months?

6 MR. JACKSON: Objection. You may
7 answer.

8 A Is it possible for these two, two and a half
9 centimeter lymph nodes with metastatic tumor to
10 have developed over the course of 10 months?

11 MR. JACKSON: Dealing with a
12 squamous cell carcinoma as we are.

13 Q These nodes?

14 A In this particular case, not in my opinion.

15 Q I'm not asking whether it's probable. I am
16 asking whether it's possible.

17 In your opinion, that is not possible?

18 A Based on what we know about the growth of the
19 tumor in the oral cavity, based on what I've
20 seen microscopically of that tumor, well
21 differentiated squamous cell carcinoma, no.

22 Q I assume that your opinions are primarily based
23 on research which you've read concerning
24 doubling time theories as opposed to being able
25 clinically here working within the hospital to

1 back date metastasis, is that fair?

2 MR. JACKSON: Oh, object to that.

3 I think he said it is based upon his
4 literature and also his personal experience
5 with these disease processes.

6 A. Yes. It's based on my review of literature, my
7 reading and also experience. We see, I won't
8 say every day, but on a daily basis we see
9 cancer cases and we have, you know, there is an
10 accumulated experience in this, oral squamous
11 cell carcinoma are slow growing tumors.

12 Q. Generally trying to determine the date of
13 metastasis with hindsight is not something that
14 is relevant to the treatment of the condition,
15 is it?

16 A. I'm not sure I understand --

17 Q. It is not something you do in your everyday
18 practice, try to determine a date of metastasis?

19 A. On a daily basis? No. We receive a lymph node
20 with metastatic disease and we report it out as
21 such.

22 Q. Under any circumstances, do you try to determine
23 date of metastasis other than for legal
24 purposes?

25 A. I would think there are rare clinical cases

1 where that does become an issue out on a
 2 pay-to-play basis in cases of cancer, is it part
 3 of our routine to back date and do that, no

4 Q Do I understand, Doctor, that you were not
 5 provided with Dr. Alonso's deposition in this
 6 case?

7 A. No.

8 Q. You did not receive that?

9 A. No.

10 Q. You didn't receive her explanation in any way
 11 concerning her attempts to interpret this slide.
 12 her attempts to communicate with the surgeon?

13 A No

14 Q Has Mr. Jackson informed you concerning that
 15 testimony? Do you have any understanding
 16 whatsoever?

17 A. My sole understanding is that at some point that
 18 she made a phone call to Dr. Brown.

19 Q And how do you get that understanding? What
 20 information have you reviewed concerning that?

21 A It was in this deposition of Dr. Sherrick.
 22 correct

23 Q But Mr. Jackson didn't give you the deposition
 24 of Dr. Alonso, Dr. Brown or Dr. Parzenko?

25 A No

1 Q. You would agree, would you not, that when Dr.
2 Alonso received this tissue specimen, the
3 purpose was to diagnose the condition, and the
4 concern was to rule out cancer?

5 A. The purpose was to diagnose the condition. *You*
6 know, I think with any white plaque there is
7 always the underlying need to exclude cancer,
8 the notes there say rule out candida.

9 Her role is to diagnose the lesion and
10 let's stop at that, regardless of what the
11 lesion is.

12 Q. Let's take a look at her report.

13 Do you have a copy of that?

14 A. Somewhere I do, I believe. Yes.

15 Q. We have a gross description contained on the
16 report.

17 Do you have any reason to doubt the gross
18 description of the specimen which is contained
19 there?

20 A. No.

21 Q. What conclusions can you draw, if any, from the
22 gross description of this specimen?

23 A. My conclusion is that she received two small
24 yellow white pieces of tissue, one measuring 0.6
25 centimeters in greatest dimension, the other 0.7

1 centimeters in greatest dimension. That is the
2 sole conclusion from the gross description.

3 Q. Your report that you prepared for Mr. Jackson
4 talks about the superficial nature of the
5 biopsy.

6 A. Right.

7 Q. Do you have any criticism of the biopsy or the
8 tissue that was taken from the tongue?

9 A. Do I have a criticism of what Dr. Brown did?

10 Q. Correct.

11 A. In terms of how he took the biopsy?

12 Q. Yes.

13 A. No.

14 Q. I think you testified that the nature of this
15 specimen causes you to question whether it was
16 an excisional biopsy, correct?

17 A. I don't believe I say that anywhere.

18 Q. No. From your prior testimony. Certainly it's
19 not in your report.

20 A. What, I have no question that in Dr. Brown's
21 opinion this was an excisional biopsy. He saw a
22 small white pimple and removed it. That is the
23 definition of an excisional biopsy. He removed
24 what he saw.

25 My testimony is that I believe that what he

1 saw wasn't the entire lesion that existed.

2 Q. Do you disagree with Dr. Alonso's microscopic
3 description of these slides?

4 A. Where it says microscopic?

5 Q. Yes.

6 A. She's described a whole lot of findings. I
7 don't believe in microscopic descriptions. We
8 give them diagnoses. Most surgeons aren't smart
9 enough to read and understand the description,
10 could you give them a diagnosis.

11 Q. When we say aren't smart enough, you mean they
12 aren't trained as pathologists so you don't try
13 to give them the pathological description?

14 A. Most surgeons want a diagnosis. I am being
15 sarcastic. There are physicians and there are
16 instances where we give a microscopic
17 description, but there's a specific reason for
18 doing it in those cases.

19 Q. Do you believe or does this pathology report
20 cause you to believe that Dr. Alonso had
21 difficulty interpreting these slides?

22 A. I don't know how much difficulty she had. I
23 cannot, I really can't speak for her. I don't
24 know what she means by focal mild atypia. So I
25 don't know whether she had difficulty in this or

1 not.

2 Q. In your opinion, is her microscopic description
3 of what she saw accurate?

4 A. She has described in the microscopic description
5 things that she saw in that biopsy. There is
6 certainly a hyperplastic epithelium. There is
7 inflammation and fibrosis. There is isolated
8 dyskeratoses, parakeratosis and hyperkeratosis,
9 those are all present. The hyperkeratosis gives
10 a verrucous appearance to the lesion.

11 Q. An occasional base of a ridge appeared atypical
12 and hyperchromatic?

13 A. Hyperkeratosis gives a verrucous appearance. I
14 don't believe that's true. But that's neither
15 here nor there. Pigment layer is focally
16 thickened, which is meaningless to me. Isolated
17 vacuolated cells, findings suggestive of a viral
18 infection, I don't believe they do suggest a
19 viral infection. No fungi seen, that is
20 appropriate since it was rule out candida. So
21 the fungal stain was done.

22 So what she has described certainly occurs,
23 is seen within that lesion. Her interpretation
24 of what she saw I don't agree with.

25). There is more there that she didn't describe,

1 would you agree?

2 A. There's not a whole lot more that she didn't
3 describe. But she's described what's there in
4 essence.

5 Q. And your interpretation of this is superficially
6 invasive, moderately well differentiated
7 squamous cell carcinoma, correct?

8 A. That's the interpretation. You asked me did she
9 describe what's on there and clearly you don't
10 understand then the difference between
11 describing what's on there and formulating a
12 diagnosis, because the two are very different.

13 Q. I understand.

14 A. You asked me if I agreed with what she
15 described. I'm telling you yes.

16 Q. Is there anything she omitted from that
17 description which leads you to the conclusion
18 that there is squamous cell carcinoma present in
19 these slides?

20 A. No. Not really. Not really. If I were
21 describing it, I might have used a few different
22 descriptors, but no. She's described what's
23 there pretty well. Her description is fine.

24 Q. Do you believe that she deviated from accepted
25 standards of care in failing to diagnose a

1 lesion which was at the very least suspicious
2 for squamous cell carcinoma?

3 Yes, I think she should have recognized this as
4 being difficult, suspicious for squamous cell
5 carcinoma. I would have had no problem with the
6 diagnosis here of suspicious for well
7 differentiated squamous cell carcinoma. I would
8 have had no problem with her identifying this as
9 a difficult problem and getting another opinion.
10 What do we mean by difficult? When you say
11 you'd have no problem with her identifying this
12 as difficult?

13 This is not an easy, that original biopsy was
14 not an easy slide to diagnose.

15 Okay. But at the very least if she was unable
16 to arrive at a diagnosis, she had to obtain
17 another opinion, would you agree?

18 I think she should have obtained another
19 opinion, sought another opinion, yes.

20 And in failing to do that, did she deviate from
21 accepted standards of care in the practice of
22 pathology?

23 Yes.

24 Is her diagnosis of the moderate papillary
25 hyperplasia with hyperkeratosis, focal mild

1 atypia and chronic inflammation a benign
2 diagnosis?

3 A. Boy, for me her diagnosis is neither. Her
4 diagnosis is descriptive, unfortunately, and we
5 certainly do give descriptive diagnoses and
6 there certainly is a place for that.

7 I think once, once she uses the word
8 atypia, that needs to be qualified. Her opinion
9 needed to be that the atypia was dysplastic, it
10 sort of concerned her about the possibility of
11 malignancy, or the atypia was a reactive process
12 due to inflammation and so forth.

13 So I don't think her diagnosis is benign or
14 malignant, it's kind of, it leaves one hanging.
15 It hasn't drawn the conclusion.

16 Based on this written pathology report, without
17 looking at the slides, would you be able to rule
18 out the presence of carcinoma in this specimen?

19 I as a pathologist?

20 Yes.

21 As a pathologist looking at these slides, I
22 would either have to call her or look at the
23 slides to rule out carcinoma.

24 What information would you get in the telephone
25 call that would not be contained in this report?

1 4 Again, as a pathologist, I would ask her if she
2 thought it was dysplastic, what was the atypia,
3 did she think it was reactive

4 Again, as a pathologist, I use to not
5 taking anyone's diagnosis for granted and I get
6 the slides and I look at them myself

7 Q Certainly it is her duty to put everything in
8 the written report, not simply to provide
9 information to the clinician by telephone, would
10 you agree?

11 A There are times when we do not put anything in
12 a report.

13 Q What times?

14 A There are times when there are certain aspects
15 of a case that don't necessarily go into a
16 report We will call the clinician and indicate
17 on the report that the clinician was called

18 It's not possible always to put everything
19 in a report.

20 Q When you say you would call her and talk with
21 her and talk about whether there was presence of
22 dysplasia, what relevance would that have?

23 A. As a pathologist?

24 Q. Yes.

25 A. What you're saying is this patient came to this

1 hospital for a second opinion and this is the
2 pathology report I got as the pathologist.

3 Q. As a pathologist you look at this pathology
4 report, you testified you would not be able to
5 rule out cancer, and so you would contact the
6 pathologist to gain more information?

7 A. Honestly I would ask her to send me the slides
8 and I would look at them myself.

9 Q. You mentioned in talking with her that you would
10 ask about dysplasia.

11 What relevance would dysplasia have?

12 MR. JACKSON: He said atypia I
13 believe.

14 MR. YOUNG: He said dysplasia as
15 well.

16 A. I used the term dysplasia as well. Let me back
17 up. As a pathologist I would get the slides,
18 and if I wanted to know what this showed with
19 this report, I would ask her to send me the
20 slides.

21 Q. All right. So you wouldn't settle for this
22 report?

23 A. As a pathologist, I would not settle for this
24 report.

25 Q. Now let's talk about as a surgeon. Do you

1 believe that a surgeon receiving this report is
2 justified in ruling out cancer based upon the
3 written report alone?

4 MR. MURPHY: Objection.

5 MR. JACKSON: If you feel
6 comfortable speaking for a surgeon, Doctor,
7 go ahead and answer.

8 A I would have to answer that based upon it
9 depends on the surgeon. It depends on the
10 relationship that surgeon has with his or her
11 pathologist.

12 In other words, if I send out a report that
13 says focal atypia to my surgeons, they know it's
14 not cancer. If someone else -- you need to know
15 your pathologist. So I can't really answer
16 that.

17 Q And you haven't had the opportunity to review
18 the depositions of either the surgeon or the
19 pathologist?

20 A No.

21 Q So you don't know the relationship?

22 A That's correct.

23 Q You can't comment on that relationship and their
24 ability to communicate?

25 A No.

1 Q. I want to get back to dysplasia. You said that
2 dysplasia would be relevant.

3 How would it be relevant?

4 A. Well, dysplasia is an abnormal growth of
5 tissue. It's an abnormal proliferation and
6 maturation of an epithelium which in many
7 instances, and again, it's depends on the
8 location and the site, has a pre-cancerous
9 connotation.

10 Q. And there are occasions when you report
11 dysplasia to the clinician here at Mt. Sinai and
12 it's understood what you're talking about?

13 A. Absolutely.

14 Q. In other words, dysplasia is a diagnosis?

15 A. Absolutely.

16 Q. This written report does not report dysplasia in
17 any way, does it?

18 A. No, it does not.

19 Q. And there is no reference to mild or severe
20 dysplasia in any way?

21 A. No.

22 Q. But you would agree that a surgeon should
23 understand the relevance of a report of
24 dysplasia?

25 A. If the term dysplasia specifically is used,

1 every surgeon understands the implications of
2 that, I would hope.

3 Q. And when we say every surgeon understands the
4 implications, what are the implications?

5 A. The implications are that there is, in the
6 tissue examined there is no cancer but there is
7 an abnormal cell proliferation, which depending
8 on the degree of severity, has a lesser or
9 greater degree of pre-cancerous connotation or
10 association with cancer.

11 Q. Certainly if you receive a diagnosis of
12 dysplasia, a surgeon would not be able to rule
13 out cancer based upon that diagnosis?

14 MR. MURPHY: Objection.

15 Q. Would you agree?

16 A. No. He might well be able to rule out cancer
17 because if he saw a white plaque, let us say, we
18 will use that as an example, if he saw white
19 plaque and he excised it and the report is
20 dysplasia, that would rule out the presence of
21 cancer and he would then follow the patient
22 based on that.

23 So the presence, your question was does the
24 presence of dysplasia rule out cancer, it may
25 well depending upon the nature of that biopsy.

1 In your answer there you just stated that he
2 biopsied or he excised the dysplasia, but
3 certainly he would have to excise all of the
4 dysplasia and the margins would have to be
5 addressed under those circumstances, would they
6 not?

7 A. It depends. You know, it depends on the
8 clinical situation as well. But yes, finding
9 dysplasia in a small biopsy does not rule out
10 the presence of cancer next to it or elsewhere
11 in the region.

12 Q. And if you receive a specimen and your diagnosis
13 is dysplasia, would you yourself address the
14 issue of margins?

15 A. It depends.

16 Q. On an excisional biopsy?

17 A. On excised, absolutely.

18 Q. If it was not excised, what would your
19 recommendation be?

20 A. If it's merely an incisional biopsy, the
21 recommendation is that appropriate further
22 management should be undertaken, whether that be
23 excising the whole lesion, or if it is too large
24 to excise, watching very carefully, biopsying
25 other areas, that really is the call of the

1 surgeon.

2 But my pathology report would indicate
3 there is dysplasia present, this is only a
4 biopsy and it really becomes then the role of
5 the surgeon to determine what further he is
6 going to do.

7 Q. Are you aware in this case that by telephone Dr.
8 Alonso informed Dr. Brown that there was mild
9 dysplasia as a diagnosis for this condition?

10 A. I don't know what Dr. Alonso told Dr. Brown
11 specifically. I know there was a phone call.

12 Q. Have you seen the telephone note on Dr. Brown's
13 records?

14 A. No.

15 Q. You've not seen that?

16 A. And if it was in the records, I did not see it
17 and didn't look for it, no.

18 Q. But you did have the opportunity to review his
19 records, you just didn't understand the nature
20 of the notation?

21 A. When I received the records, I reviewed those
22 records specifically as they pertained to what
23 the biopsy showed, what my opinion was on the
24 lymph node and metastatic disease.

25 I really did not review the records with

1 regard to quality of care rendered by Dr. Brown,
2 the appropriateness or inappropriateness of his
3 response to this pathology report.

4 I'm sure it was in the notes that were sent
5 to me, but I saw no need to review those based
6 on what I was asked to look at.

7 2. Let me show you what's been marked for
8 identification purposes previously as Dr. Brown
9 Exhibit 4, which is his office chart.

10 4. Sure.

11 2. And in his office chart we have the notations
12 that he made on November 22, 1989 and later we
13 have a date 11/28/89. And the notation there is
14 "path: Hyperkeratosis, mild dysplasia."

15 Did you have the opportunity to see that
16 notation in reviewing these medical records?

17 A. No, I did not see that. I did not look for it
18 and did not see it.

19 I. Just to further understand your opinion in this
20 case, is it your opinion that as a result of Dr.
21 Alonso's deviation from accepted standard of
22 care in making a diagnosis in this case, Mr.
23 Boyd failed to get treatment for a cancerous
24 condition which was present on November 22,
25 1989?

1 A. No. I don't know what, what would have been
2 done locally. Had a diagnosis of carcinoma been
3 made here, and again this is an assumption, I
4 would assume that Dr. Brown or someone else
5 would have re-excised that area.

6 Q. It's your opinion that a diagnosis which would
7 cause further follow-up should have been made,
8 correct?

9 A. Yes.

10 Q. In other words, when we talk about the fact that
11 a diagnosis of at least suspicious for well
12 differentiated squamous cell carcinoma should
13 have been made, that would have been sufficient
14 to alert a clinician to the need for further
15 care?

16 A. Correct.

17 Q. All right. That diagnosis was not made and no
18 further care was rendered, correct? You do know
19 that?

20 A. Yes. Based on no further care was rendered,
21 that is correct.

22 Q. You have no opinion concerning whether Dr. Brown
23 deviated from accepted standard of care, and you
24 were not supplied the necessary information to
25 be able to evaluate that, would you agree?

1 A. I am not going to have an opinion and don't have
2 an opinion on how Dr. Brown should have
3 proceeded given Dr. Alonso's report.

4 Q. All right. And you have no opinion concerning
5 the way in which whatever care would have been
6 administered would have affected the outcome in
7 this case, do you?

8 A. I wasn't concentrating. Can you repeat that?

9 Q. In other words, you don't have and you don't
10 intend to express any opinion concerning the
11 effect that proper treatment of this condition
12 would have had on the outcome?

13 A. My opinion is going to be or is that metastatic
14 disease was already present.

15 Q. I'm just trying to find the line at which you --

16 A. Correct. And based on that, the prognosis was
17 extremely poor. I am not going to render an
18 opinion on how either radiation therapy or
19 chemotherapy or other modalities of treatment
20 would have affected that very poor prognosis.

21 Q. You would agree with me that had the diagnosis
22 been made in November of 1989 as opposed to in
23 October of '90, the probability of successful
24 treatment would have been greater?

25 MR. JACKSON: Objection.

1 A. I don't necessarily agree with that. I don't
2 know that the results would have been any
3 different. With metastatic disease already
4 present, I don't know that therapy would have
5 improved his survival.

6 Q. I am not asking you if you know if it would
7 have. I am asking if it probably would have.

8 We know statistically treatment at stage
9 three cancer gives a better result than stage
10 four?

11 A. But we don't know what effect treatment would
12 have had had the presence of metastatic disease
13 been discovered back in November of 1989.

14 Q. My question is to your knowledge, within your
15 area of expertise, do you understand that the
16 treatment of stage one cancer gives a better
17 result than stage two?

18 But he was not stage one in November of 1989.
19 That's not my question.

20 Does stage one result in a better outcome
21 than stage two?

22 In statistical terms, yes. For every patient,
23 not necessarily so.

24 I understand. We're talking about
25 statistically.

1 And stage two gives a better result than
2 stage three?

3 A. I'm not sure on the specific numbers there, but
4 I would, again I believe there is a slightly
5 better prognosis for stage two.

6 Q. And stage three results in a better prognosis
7 than stage four, would you agree, if you know?

8 A. Yes. Slightly and again, I don't know the
9 specific numbers.

10 Q. Okay. Now, stage four concerns or includes
11 distant metastasis, does it not?

12 A. It does.

13 Q. This gentleman was stage four when he presented
14 in 1990?

15 A. Correct.

16 Q. And without asking you specifically without the
17 book in front of you, do you have an opinion to
18 a reasonable medical probability as to what his
19 stage was on November 22, 1989?

20 A. I don't. I don't because I don't, there were no
21 CT scans, there was no further work-up. So no,
22 I don't. He may already have been stage four at
23 that point.

24 Q. Is there any evidence of that?

25 A. There isn't evidence of it. There isn't

1 evidence that it's not because there isn't, the
2 appropriate tests weren't done. So I don't know
3 what stage he was in in November, '89. He may
4 well have been stage four. But I can't say one
5 way or the other. No one can say.

6 Q. When you say he could well have been, certainly
7 if he was stage four, you would expect him to
8 have palpable lymph nodes in the neck?

9 A. Not necessarily.

10 Q. No?

11 A. No.

12 Q. As I understand it, you have no recollection of
13 actually looking at the CT Scan report of
14 October 10th, 1990?

15 MR. JACKSON: That's not what he
16 said. He didn't look at films.

17 Q. Do you have the recollection of looking at the
18 report of October 10th, 1990?

19 4. You are asking me if I looked at the films, I
20 said no. I looked at the report because that's
21 where I got the size of 2.2 centimeters.

22 2. All right. Doctor, in Dr. Alonso's deposition
23 she testified that based upon a written
24 pathology report alone, without the telephone
25 call, Dr. Brown should have been alerted to the

1 need to totally eliminate, further eliminate
2 this atypical condition on the tongue or more
3 closely follow the condition.

4 Do you agree, disagree?

5 A. You better repeat that, sorry.

6 Q. She testified that based on this written --

7 A. Who did?

8 Q. Dr. Alonso.

9 A. Okay.

10 Q. The woman that Mr. Jackson represents. She
11 testified that based on this written pathology
12 report, Dr. Brown should have totally eliminated
13 the condition on the tongue or more closely
14 followed it.

15 MR. JACKSON: I'm going to ask
16 you --

17 Q. Do you agree, disagree --

18 MR. JACKSON: I'll ask you to read
19 that out of the deposition. Show us what
20 she says specifically.

21 Q. Let's assume that she says that.

22 Would you agree with that?

23 MR. JACKSON: Assume that she says
24 what?

25 MR. YOUNG: What I just said.

1 MR. JACKSON: Verbatim as you just
2 said it?

3 MR. YOUNG: Yes.

4 MR. MURPHY: Note an objection.
5 Hypothetical phone conversation.

6 Q. Do you understand the question?

7 A. Yes. Assuming -- you better repeat that?

8 Q. Yes. She sent this written report off to Dr.
9 Brown.

10 A. Based purely on this written report?

11 Q. Yes. It was her opinion that he should have
12 eliminated the condition surgically or most
13 closely followed it.

14 Would you agree, disagree or have no
15 opinion?

16 A. I suppose essentially I would disagree. I don't
17 know, and I'll qualify it by saying that I have
18 no idea how Dr. Alonso makes diagnoses. I have
19 no idea what her typical pathology report
20 indicates. I have no idea how frequently she
21 works with Dr. Brown and how well he understands
22 her standard surgical pathology report.

23 I don't think it's true to say that based
24 just on this he should have done something else,
25 because I don't think that this is a complete

1 diagnosis.

2 Q. Based on what you've just said, is it fair to
3 say that you just don't understand their
4 relationship and you don't have the information
5 to agree or disagree?

6 A. It's probably fair to say that I don't have
7 information on their relationship to agree with
8 what he said or disagree with what you said.
9 That's fair enough.

10 Q. Is it common in your practice for a pathologist
11 to contact a clinician to alert him to some
12 special need for further care?

13 A. It's not only common but it's pretty routine.

14 Q. Doctor, when we talk about progression of a
15 tumor, what do we mean by progression?

16 A. Progression of a tumor is a very vague term.
17 Different people probably understand different
18 things.

19 Progression of a tumor generally implies
20 how that tumor grows, spreads, behaves during
21 the course of its disease.

22 Q. Foulds, F-o-u-l-d-s, did some work concerning
23 tumor progression back in, it was published I
24 think in 1954, wasn't it?

25 A. I'm very familiar with his work, with some of

1 his work

2 Q You are very familiar with some of it?

3 A Yes

4 Q And his work dealt in part with progression of
5 tumors?

6 A Correct.

7 Q As you've just defined it?

8 A Correct.

9 Q One of the principles -- and was published
10 certain selected principles, did he not?

11 A Oh, I don't recall the specific details of those
12 papers, but I have reviewed them and referenced
13 them in some of my own papers that I've written.
14 book chapters.

15 Q In something that I read there, and actually
16 referencing Foulks, one of the principles was
17 progression occurs independently in different
18 tumors, also different lesions of the same
19 tumor

20 MR JACKSON: May I say four
21 reference?

22 Q. Is that correct?

23 MR. JACKSON: Wait a minute. You
24 are ascribing it to a specific article
25 Let's say it.

1 MR. YOUNG: I am just asking him if
2 he is familiar with the principle at this
3 point in time.

4 MR. JACKSON: With the principle as
5 set forth by Foulds in a specific writing
6 as you have just said.

7 MR. YOUNG: I don't have the
8 writing. So I can't say.

9 Q. Are you familiar with that?

10 A. I am familiar with that and again, it's not
11 quite as easy and as specific as you've just
12 read it. These are specific types of tumors in
13 experimental animals, yes.

14 Q. All right. In general, would you agree or
15 disagree with regard to cancer progression
16 occurs independently in different tumors and two
17 different tumors of the same type in the same
18 host may develop or progress differently?

19 A. In general, that does not occur. It may occur
20 with some tumors.

21 Q. In general it does not?

22 4. In general a metastatic tumor behaves pretty
23 much the same as a primary tumor.

24 2. In general it does,

25 1. In general.

1 Q. What do we mean when we talk about unit
2 characters when we talk about tumors?

3 A. I'm not sure specifically what you're referring
4 to.

5 Q. I don't know. It was something I didn't
6 understand in reading some of the studies and I
7 just didn't understand unit characters.

8 A. I would assume he's talking about, but I would
9 have to read the paper, let's preface that, I
10 would have to read the paper, but if I were to
11 guess, he would be talking about specific
12 characteristics, independent characteristics of
13 a tumor.

14 2. In one of those principles he says "progression
15 is continuous or discontinuous. It may occur
16 gradually or abruptly."

17 In general with regard to cancer, do you
18 agree or disagree with that?

19 MR. JACKSON: Again, if you have a
20 specific reference, I would like you to
21 show us and let the doctor read it in
22 context. You're not going to do that?

23 A. Repeat that.

24 B. Yes. "Progression is continuous or
25 discontinuous. It can occur gradually or

1 abruptly."

2 A. I would agree in part with that. Again, it
3 refers to certain specific types of tumors in an
4 experimental situation. There are certain
5 tumors where changes can occur, absolutely.

6 Q. With regard to squamous cell carcinoma, would
7 you agree that changes can occur, that it can be
8 present in a quiescent state and flare up and
9 progress rapidly at a given point in time?

10 A. It depends what your definition of flare up is.
11 If your definition of flare up is that it will
12 double in two days, no, absolutely not.

13 If your definition of flare up is that over
14 a period of time it may grow faster and double
15 at a slightly greater rate than originally,
16 yes. And I think I indicated that early on,
17 that a doubling time generally is around 200
18 days.

19 Let's assume that it increased its doubling
20 time to 100 days or 80 days or 60 days or 50
21 days, that would be a change in tumor behavior,
22 but is that tumor going to double overnight, no.

23 2. Have you published any studies or any materials
24 which would bear on the issues in this case?

25 A. I have written a couple of book chapters on

1 tumor progression and how tumors can change
2 their appearance over time. But that time is
3 not an overnight time.

4 Q. What chapters in what books have you written?

5 A. It's in my CV.

6 Q. That's a current CV and it's contained there?

7 A. A few things may have happened over the last
8 year. But it won't materially affect it.

9 Q. Can you point that out to us?

10 A. These are lectures. There's an article here
11 that refers to some changing biochemical
12 patterns.

13 Q. 13 on your CV?

14 A. Small Cell Carcinoma of the Lung. Another one
15 that refers is 16, Changes in Morphologic and
16 Biochemical Characteristics of Small Cell
17 Carcinoma.

18 Article 31 involves some of what we're
19 talking.

20 Q. That is what?

21 A. Ectopic Hormone Production of Tumors. Some of
22 Foulds' studies are discussed in there. No. 47.

23 Q. That's what?

24 A. Time Dependent Changes in Human Tumors.

25 Q. That's a 1988 study?

- 1 1982.
2 '02 Were you a resident at that time?
3 No. There is an experimental paperback in 1974,
4 article number 63, dealing with a specific type
5 of tumor.
6 Medullary thyroid carcinoma?
7 As you can see, this is something I've been
8 interested in over many years. And there may be
9 some book chapters.
10 Q. You just referred to two book chapters --
11 A. I referred to --
12 Q. -- that dealt with progression.
13 A. Those were in journals, medical journals.
14 Q. No, I meant earlier, you had referred to having
15 written two book chapters dealing with
16 progression. I'm trying to identify --
17 A They might have been in the papers I was
18 referring to. It's not always easy to -- here
19 you go, number five in the books, medullary
20 thyroid carcinoma, again, a specific type of
21 tumor.
22 Number nine in the books, here were my two
23 chapters, I wasn't lying, from 1985.
24 Q Doctor, have you been asked from time to time by
25 members of Jacobson, Maynard to consult on

1 cases?

2 A. From time to time, yes.

3 Q. Are you able to approximate for me the number of
4 occasions on which you would have been asked to
5 consult?

6 A. Oh, I would think, I really don't keep track of
7 this, maybe a couple of times each year, two or
8 three times a year.

9 Q. Over what period of time?

10 A. Well, I've only been in Cleveland 11 years. So
11 it's probably over the last seven, seven, eight
12 years.

13 Q. 14 or 15 cases, would that be a fair
14 approximation?

15 A. Yes. Maybe a few more than that, I really
16 don't keep track. Maybe 20. Most of those are
17 would you take a look at some slides. There may
18 be an issue in this case and I look at slides
19 and that's the last I hear of that case.

20 There are some cases such as this where
21 slides are brought, I might want you to look at
22 them again and, you know, give me an opinion.
23 They come back or don't. So I don't keep track
24 of how many. I probably issue a report a couple
25 of times a year.

1 Q. Okay. You have a fee arrangement with them for
2 consulting in this area?

3 A. Yes.

4 Q. What is your arrangement?

5 A. If I'm asked to look at a case, and it's a
6 biopsy, I will charge what I would charge if
7 that were a diagnostic case for the first time.

8 If subsequent to that I'm asked to rereview
9 a photograph, write reports, get involved with
10 the case, I charge \$200 an hour.

11 MR. JACKSON: I don't know if you
12 meant to suggest this. Are you suggesting
13 that there is a fee arrangement
14 specifically with Jacobson, Maynard or in
15 general?

16 MR. YOUNG: I'm not suggesting
17 anything.

18 MR. JACKSON: Because the way you
19 asked the question, it might be read later
20 to imply that.

21 MR. YOUNG: I am asking him what
22 his fee arrangement is.

23 MR. JACKSON: In general.

24 A. In general I charge for my time involved per
25 case.

1 Q. And it's a uniform hourly basis whether you go
2 to court or whether it's here on deposition?

3 A. I charge more for depositions and for court
4 because generally that's in the middle of the
5 day when it's toughest for me.

6 Q. Have you been asked to consult at any point in
7 time by them on any squamous cell carcinoma
8 cases?

9 A. I honestly don't recall. I might have. I
10 honestly do not recall the specifics of each
11 individual case.

12 Q. Can you recall the number of occasions on which
13 you would have testified for this firm, either
14 by way of deposition or in court?

15 A. I would think in deposition over the last seven
16 or eight years, probably a dozen times.

17 In court, four, three, four, five. I again
18 don't recall.

19 Q. And at this point in time do you have any cases
20 pending, other than this case, on which you've
21 been identified as an expert by that firm?

22 A. I do not know. I keep a file of cases which I
23 update and I don't know if there are any cases
24 in that file which are still pending. I
25 honestly don't know.

1 None that, I'll qualify that by saying none
2 that I can think of at this point.

3 Q. In addition to the consulting work that you do
4 with this firm, you do consulting work with
5 other firms?

6 A. I have looked at some cases for independent
7 attorneys.

8 Q. What other attorneys have you reviewed cases or
9 information?

10 A. Oh, I've looked at cases for Mr. Kennedy as a
11 plaintiff's expert. I've looked at cases for
12 Mr. Scanlon on a few occasions down in Akron.
13 There are some others in there. I've consulted
14 with Mr. Devan on a couple of cases, a couple of
15 murder cases where there have been specific
16 questions that I've been asked. I have been
17 asked to review cases by Reminger & Reminger on
18 a couple of occasions, a few occasions. Arter &
19 Hadden on a few occasions.

20 Q. Other than on one occasion testifying for Mr.
21 Kennedy, have you ever testified on behalf of a
22 plaintiff in a medical malpractice case?

23 A. Yes.

24 Q. What other cases?

25 A. Oh, I can recall certainly with Mr. Scanlon down

1 in Akron.

2 Q. In Akron? What was the nature of that case?

3 A. It was a breast cancer case. There's an
4 attorney whose name eludes me here in Cleveland
5 that I've appeared in court for, a case of a
6 pancreatic tumor. There are others. I just
7 don't keep track of them.

8 Q. Are you able to approximate for me the number of
9 defense cases you would review versus the number
10 of plaintiffs medical malpractice cases?

11 A. Oh, I would think plaintiffs' cases is probably
12 in the region of half a dozen.

13 Q. In which you participated?

14 A. In which I've given reports. Not necessarily
15 proceeding all the way to deposition or trial.
16 But a written report. In defense, oh, maybe
17 four a year, somewhere around there
18 approximately.

19 Q. Have you ever given a plaintiff's report in
20 connection with a case which was defended by
21 Jacobson, Maynard?

22 A. Yes.

23 Q. What case?

24 A. Oh, I can't recall but I did, I believe that I
25 did give an opinion in a case that was defended

1 by Jacobson, Maynard.

2 Q. You don't recall the case or who was defending
3 it?

4 A. I do not.

5 Q. Do you recall the name of plaintiff's counsel?

6 A. I do not.

7 Q. Do you recall the issues involved in the case?

8 A. Oh, no. I do not. I don't recall if that case,
9 if the case went to trial or whether there was
10 some sort of settlement based on my written
11 report. I have no recollection.

12 Q. Are you a member, I think it's the American
13 Academy of Pathologists, is it not? You're
14 board certified. What is the organization
15 through which you are certified?

16 A. American Board of Pathology.

17 Q. There are standards promulgated by the board
18 with regard to rendering of reports and so
19 forth, are there not?

20 A. No.

21 Q. No?

22 A. No. The College of American Pathologists has
23 standards. Board certification is based on an
24 examination.

25 Q. Yes. The College of American Pathologists, what

1 standards are promulgated by that organization?

2 A. The standards are that reports be accurate,
3 timely and complete. I suppose those are the
4 three general categories.

5 Q. Are there definitions within those requirements
6 or those standards?

7 A. No.

8 Q. As to what that means?

9 A. No. Well, let me back up. Are there specific
10 definitions? No. A pathology report should
11 include a gross description. It should
12 include -- let's back up even before that.
13 Every report should include the name of the
14 physician, the type of procedure that was done,
15 a description of the material involved and a
16 diagnosis.

17 Q. When you testified earlier that Dr. Alonso
18 deviated from accepted standards of practice and
19 care, what did you understand accepted standards
20 of practice and care to mean?

21 A. Well, my opinion was, as I stated it then, is
22 that I don't think her diagnosis was complete or
23 accurate. Those two are tied up, you know,
24 those are intimately sort of associated. An
25 accurate diagnosis obviously needs to be

1 complete. In my opinion, hers wasn't accurate.

2 Q. Applying the standard of an ordinary, a
3 physician of ordinary skill, care and diligence,
4 you believe that the pathologist of ordinary
5 skill, care and diligence would have done more
6 and reported this case diagnostically
7 differently?

8 A. I think that this diagnosis should have
9 indicated that this was, A, a difficult case.
10 B, it should have been shown to someone else.
11 Those would be my two criteria, minimal criteria
12 for which I would have accepted that diagnosis.
13 This is a very difficult case or I'm showing it
14 to someone else or have shown it to someone
15 else.

16 In your opinion, had those standards been met,
17 the existence of this carcinoma would have come
18 to the attention of the clinician, is that
19 correct?

20 MR. JACKSON: I'll object. I think
21 that's been asked and answered at least
22 once.

23 I don't know. I don't know. It would have
24 depended on who she showed it to. Let's assume
25 she showed it to someone and the opinion of one

1 of her colleagues might have been the same.

2 Really I think that is, you know, the
3 surgeon's call. Had she said suspicious, had
4 she said this is a difficult case, I'm concerned
5 about it, would he have done something else?
6 Possibly, probably, I really don't know how he
7 would have reacted to that. He probably would
8 have, yes.

9 Q. Would you agree that a pathologist of ordinary
10 skill, care and diligence would have diagnosed
11 the condition in such a way that the clinician
12 would have concluded that he could not rule out
13 cancer in this case?

14 A. Yes, I think that the appropriate report in this
15 case would have been to indicate that.

16 MR. YOUNG: Thank you. I have
17 nothing further at this time.

18 MR. MURPHY: I don't have any
19 questions.

20

21

GEOFFREY MENDELSON, M.D.

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Linda A. Astuto, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named GEOFFREY MENDELSON, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 __.

Linda A. Astuto, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires October 24, 1997