

STATE OF OHIO)
)
SUMMIT COUNTY)

SS:

IN THE COURT OF COMMON PLEAS

CASE NO. CV-82-7-2203

Doc. 316

JANETTE BRIDGE, ADMRX.,

PLAINTIFF,

VS.

AKRON GENERAL MEDICAL CENTER,

DEFENDANTS.

)

)

)

)

)

)

)

)

VIDEOTAPE DEPOSITION

OF

DR. CAROL MILLER

JUDGE MORGAN

VIDEOTAPE DEPOSITION taken before John Jastromb,
a Notary Public within and for the State of Ohio, pursuant to
Notice and as taken on February 25, 1986 in the office of
Dr. Carole Miller, Ohio State University Hospital, 410 W. 10th
Street, Doan Hall, Room N950, Columbus, Ohio. Said deposition
taken of Dr. Carol Miller is to be used as evidence on behalf
of the Defendant in the aforesaid cause of action, pending in
the Court of Common Pleas, within and for the County of Summit,
for the State of Ohio.

APPEARANCES:

MR. CHARLES MILLER,

On Behalf of the Plaintiff,

MR. CHARLES PIERSON,

On Behalf of the Defendant,
Akron General Medical Center,
Dr. Narraway, and Dr. Friedman,

MS. AMY TAYLOR,

On Behalf of the Defendant,
Dr. Sokol.

1
2
3
4
5
6
7
8
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

OPERATOR: We're on the record.
Doctor, would you raise your right hand please. Do you solemnly swear the testimony you are about to give in this matter to be the truth, the whole truth, and nothing but the truth so help you God?

DR, **MILLER:** I do.

MR. PIERSON: Let the record show that the deposition of Dr. Carol Miller is being taken on direct examination on behalf of the Defendant Friedman in this case for reading at the trial of this case,

DURING DIRECT EXAMINATION BY MR. CHARLES PIERSON:

Q **For** the record, would you state your name please?

A Carole Ann Miller.

Q And where do you live, ma'am?

A In Columbus, Ohio.

Q And what is your office address?

A It is Ohio State University Hospitals, 410 West 10th Avenue, Columbus, Ohio 43210.

Q What is your occupation?

A I am a physician and specifically a surgeon in the practice of neurological surgery.

1 Q Doctor, would you briefly tell us what your
2 education has been beginning with your college?

3 A I was graduated from Ohio State in 1962 with
4 a Bachelor of Arts Degree. I then attended Ohio State
5 University College of Medicine from 1962 to 1966 and was
6 graduated in 1966. I interned following that at the
7 University of Pennsylvania at the Graduate Hospital in
8 Philadelphia. I then returned to Ohio State and did one
9 year of general surgery residency and then completed the
10 neurosurgery residency here. I did a fellowship in
11 neurosurgery, actually in neurophysiology, at Yale University
12 from January of 1972 through June of 1972. I was then on
13 the faculty at The University of Michigan from 1972
14 to 1975. I became board certified in neurologic surgery
15 in 1975 and returned to Ohio State and have been on the
16 faculty here since that time.

17 Q What is your present rank, Doctor?

18 A I am an Associate Professor in the Department of
19 Surgery of The Division of Neurological Surgery.

20 Q Doctor, I take it then that you, in addition to
21 teaching, you are engaged in the clinical practice of
22 medicine?

23 A My primary function is a clinical practitioner
24 of neurological surgery,

25 Q And can you give us an estimate of what percentage of

1 your time is engaged in neurologic surgery?

2 A 85 to 90 percent.

3 Q All right. What does board certification mean,
4 Doctor?

5 A In neurological surgery we have a written examiner
6 that is completed after the five year of residency training.
7 Most programs require that the written examination be passed
8 in order to successfully complete the residency training.
9 Then one must be in practice for two years following
10 completion of a recognized residency program. You present
11 your credentials to The American Board of Neurological
12 Surgery, and also having passed the written examination
13 and then take an oral examination, and receive board
14 certification.

15 Q I take it then that your work as a professor of
16 medicine is here at The Ohio State Medical School?

17 A Correct.

18 Q Have you taught elsewhere?

19 A Yes

20 Q I think you mentioned at The University of Michigan?

21 A Yes.

22 Q And where do you practice medicine, doctor, with
23 your patients?

24 A At University Hospital, and then I am also on the
25 courtesy staff at Children's Hospital in Columbus, and I am

1 **also** on the consulting staff of Memorial Hospital in
2 Marysville, Ohio.

3 Q Doctor, before the deposition began you handed me
4 a synopsis or curriculum vitae of your career and professional
5 qualifications. I would like to mark this as Exhibit "A"
6 for the Defendant Friedman. Do you have an extra copy
7 I can give to Mr. Miller?

8 A Yes, I do. Certainly,

9 Q Thank you. I mark that then Exhibit "A" and I
10 offer **it** in evidence for use at the trial of this case,

11 3:58:09 - MR. MILLER: Objection as
12 cumulative and hearsay,

13 Q All right. Doctor, at **my** request did you review
14 certain medical records connected with the case of
15 Janette Bridge, Administratrix, versus Akron General Medical
16 Center and others?

17 A Yes, I did.

18 Q And what records did you review, Doctor?

19 A I'll refer back to **my** letter of May 3rd, 1985.

20 Q The letter you speak of is a letter you **wrote** to
21 me I believe in response to your first survey or summary
22 of these records?

23 A Yes, sir.

24 Q Go ahead.

25 A I reviewed the medical records of William C. Bridge,

1 Akron General Medical Center number 341575, and the synopsis
2 of depositions of Dr. Sokol, Dr. Narraway, Dr. Harvey
3 M. Friedman, and Dr. David P.L. Sacks,,

4 Q With the exception....well, not with the exception.
5 Each of these synopses were synopses that my law office
6 had prepared and sent to you along with the medical records,
7 is that correct?

8 A That is correct. That was my understanding, yes.

9 Q Have you read any actual transcripts of depositions?

10 A Not all the way through. I believe today you
11 brought a deposition of a Dr. Rogers from Pittsburgh.

12 Q Yes,

13 Q I very briefly reviewed some of that. Then
14 yesterd y in some materials which were returned to me was
15 a deposition of a Linda Cullen, C-U-L-L-E-N, and quite
16 frankly I have not had an opportunity to totally review that.

17 Q All right. With reference to the various parts of
18 this medical record I want to direct some questions to
19 particular parts of it. Have you reviewed the medical
20 dosages that were given to this patient, Mr. Bridge,
21 subsequent to his cardiac surgery?

22 A Yes, I have.

23 Q Did you find that Nembutal was prescribed in this
24 case?

25 4 Yes, it was.

1 Q And by whom was **it** prescribed?

2 A It was recommended by Dr. Harvey Friedman.

3 Q And can you tell us generally what is your opinion
4 about the use of Nembutal, in general, and then with respect
5 to this particular patient given the history and the medical
6 conditions that you have analyzed in this chart?

7 A Well, Nembutal or Pentobarbital is used in general
8 in two situations. One, a patient who is restless and
9 thrashing about, we sometimes use a drug such as Nembutal
10 or Pentobarbital to sedate the patient. I have also used
11 Pentobarbital extensively in the treatment of increased
12 intercranial pressure associated with cerebral edema after
13 a trauma, strokes, intercranial hemorrhages, brain tumors,
14 et cetera.

15 Q In your opinion, was the use of Nembutal indicated
16 in this case?

17 A I think **it** was certainly appropriate considering
18 the situation, yes.

19 Q What is that situation and why was **it** used?

20 a Dr. Friedman **used it**, number one, because was
21 presented with a patient with a very severe stroke, a
22 patient who had been rendered hemiplegic and aphasic **as**
23 a result, more likely than not, of an embolus, a complication
24 of the surgical procedure which Mr. Bridge had undergone.

25 Q Excuse me, Doctor, can we, for the benefit of the

1 jury explain some of the medical terms you have used such
2 as hemiplegic and aphasic, what does that mean?

3 A Well, Mr. Bridge unfortunately had suffered a
4 stroke to the dominate or the left hemisphere of his brain.
5 The left side of the brain controls the right side of the
6 body. In most individuals, particularly most right-handed
7 individuals, the left side of the brain is also the area
8 for speech, and recognition and understanding of speech, and
9 ability to respond to the spoken word, and also to actually
10 verbalize in response to recognition or a verbal input.
11 When a patient has suffered damage to the left side of the
12 brain that area may be more or less involved. In
13 Dr. Friedman's description the patient was globally aphasic
14 which indicates that not only could he not receive information
15 and integrate it internally and understand, but he could not
16 verbalize either; he could not speak.

17 Q Is that what aphasic means?

18 A In general, yes. We tend to classify aphasia but
19 I think that as a lay person, most lay people think of
20 aphasia as the inability to speak, yes.

21 Q And what does hemiplegia mean?

22 A Hemiplegia is a term which describes a disuse
23 of an extremity. Particularly it describes disuse of
24 an entire side of a body including the face, the arm, and
25 the leg. Now hemiplegic means that there is absolutely no

1 function what so ever. Hemiparesis is one side, this side
2 of that, where there may be some visible function. It may
3 not be very useful or it may be what we call a mild
4 hemiparesis where a patient may simply not be able to grip
5 and so there may be a spectrum of a weakness or whatever
6 present.

7 Q What did we have in this case?

8 A As it was described initially in the consult by
9 Dr. Friedman the patient was globally aphasic., He could
10 not understand the spoken word and could not verbalize.
11 In addition he could not move the right side of his body.
12 His arm was moving less well than his left and this....than
13 his leg and this is quite consistent with a stroke in the
14 distribution of the middle cerebral artery which is one of
15 the main branches of the large artery going to the brain,
16 That distribution of that artery happens to be to the face
17 area, both for motor function and for speech, and to the
18 right arm, and to a lesser extent to the right leg.

19 Q Doctor, I think you used the term cerebral
20 edema, did you not?

21 A Yes.

22 Q What does that refer to?

23 A Cerebral edema, or brain edema, or brain swelling,
24 or a brain that fills up with water is a response of the
25 brain to an injury. Just as if I were to bang my hand hard

1 on this desk, after a while you would start seeing some
2 redness and maybe some blue and some hemorrhage, you would
3 see a clot forming and a bruise form there. When the brain
4 is injured by various mechanisms, whether someone gets a
5 blow to their head and injures their brain, whether or not
6 a blood clot breaks off, say, from the heart and follows an
7 artery up to the brain such as happened in this particular
8 case, or that actually a blood vessel ruptures inside the
9 brain so that there is actually a clot formed there, the
10 response of the brain, just like the response to the hand
11 here, is that something happens to the cell structure in
12 the brain so that the fluid is no longer contained within
13 the blood vessels of the brain where it is supposed to be
14 and oozes out into the substance of the brain. When the
15 water content of the brain increases because of damage to
16 the cells, the brain swells inside this closed box, and a
17 result that swelling causes increased pressure inside the
18 head. This is bad because if allowed to progress it will
19 eventually lead to the patient's death.

20 Q And is there treatment for this cerebral edema?

21 A There are some things that we can do sometimes to
22 mitigate it and in certain cases it is inexorable and there
23 is nothing that we can do. The best treatment, if it is
24 possible, if it is response to a blood clot, say, after a
25 blow to the brain, or a response to a brain abscess or a

1 response to a brain tumor, is to actually operate and
2 remove the tumor, the abscess, the blood clot, and that
3 often reduces the intercranial pressure and that really
4 is the best treatment. Unfortunately in a case such a
5 Mr. Bridge where you have had what we call an embolic
6 stroke, where something has broken away inside the heart,
7 floated in the artery, and lodged in one of the major
8 arteries going to the brain so that that area of the brain
9 is no longer getting its blood supply, and then those cells
10 die, they become ischemic, they are not getting the oxygen
11 and the glucose that they need, and this happens very
12 rapidly. The brain swelling is something that is sort of
13 like a vicious cycle, the cells immediately around the
14 area of the infarct die, and then the cells around them die,
15 and the cells around them die. So it is sort of this
16 inexorable course and unfortunately in a case like this....
17 from a surgeon's standpoint it is unfortunate because
18 there isn't really anything that we can do to go in, take
19 out, and relieve the pressure. Now occasionally when
20 brain swelling is present and if it is in a non-vital
21 area of the brain, it is hard to think that there are non-
22 vital areas of the brain, but we do go in and remove certain
23 portions of the brain in very extreme cases in order to
24 reduce the pressure inside the brain in order to save the
25 patient's life, In general, we don't like to do that and

1 in a case such as this, that option really isn't open to
2 you because this stroke had been in the....again, as I
3 said, the dominate hemisphere of the brain, the most important
4 one having to do with speech function and with the right side
5 of the body.

6 Is there any alternative treatment then for cerebral
7 edema?

8 There are medical treatments which we can institute,
9 Steroids; Decadron is ^acommon one that was used then and is
10 used now. Maintaining the patient's oxygen and CO₂ at
11 appropriate levels we know is very important. Making sure
12 that there is glucose because the brain needs a constant
13 supply of oxygen and glucose in order to do its metabolic
14 work. In fact, if it is deprived of that for five minutes
15 or longer, except in certain experimental condition, the
16 brain dies; the cells immediately adjacent to it die.

17 **An,** Pentobarbital or Nambutal, if you will, is a drug along
18 with other barbiturates which have been used and were used
19 particularly in the late 70's and early 80's in the treatment
20 of cerebral edema,

21 Would the use of Nembutal then be appropriate in
22 this case for the treatment of cerebral edema?

23 It certainly could be considered appropriate, **yes.**

24 Did Mr. Bridge have cerebral edema in your judgement?

25 Well as, again, as Dr. Friedman reported this man

1 was globally aphasic and had a right hemiplegia, or at least
2 a severe hemiparesis, following surgery. The reasonable
3 diagnosis as Dr. Friedman made **it** was an embolus to the
4 middle cerebral artery. That results in edema. Now the
5 edema isn't going to be there immediately. The edema is
6 going to take 24, 48, to 72 hours to really become significant.
7 What you want to try to do is reduce **it** as much as you can,
8 Now when the brain cells start to die there is nothing
9 unfortunately that we can do to **totally** reverse that. What
10 you hope is that the stroke **has** not been so massive and
11 plugged up such a vital artery that the whole side of the
12 brain is going to become involved. If the whole side of
13 the brain becomes involved, the inevitable course is death and
14 even with giving the drugs that we use.....I didn't mention
15 mannitol, but barbiturates, steroids, and mannitol isn't
16 going to make all that much difference, If it is a lesser
17 stroke) and you don't know that at the beginning when you
18 first see the patient, there may be a chance that by giving
19 these drugs you can reduce the peripheral swelling and
20 therefore control within reason so that **it** doesn't....so that
21 the swelling does not result in the demise of the patient.

22 Q Doctor) do you think that the Nembutal in this case,
23 based upon this record, was prescribed in appropriate or
24 approved amounts?

25 A Yes. In my opinion **it** was.

1 Q Do you think it was prescribed at appropriate
2 intervals?

3 A Yes, I believe it was.

4 Q Have you reviewed the chart to determine whether
5 there were any other central nervous system depressants
6 given and, first of all, can you tell us what that term
7 means, central nervous system depressants?

8 A When we apply the term central nervous system
9 depressant to a drug we generally mean, again in lay terms
10 something that the individual would recognize, that would
11 make the person sleepy. For instance, the usual sleeping
12 pills that are taken are central nervous system depressants.
13 They produce a sleepy state, make the individual less
14 restless or allow them to rest better if you will, and
15 also may specifically cause depression of the centers
16 for respiration. Usually when we refer to it we mean
17 something that specifically acts more or less on the
18 respiratory center.

19 Q Were such central nervous system depressants used
20 in this case?

21 A Well, Nembutal is a central nervous system....

22 Q Were there others used?

23 A I have not had the opportunity to totally re-review
24 these records, but I know that Valium was used also.

25 Q Do you have an opinion as to whether the Nembutal

1 in this case was used at an appropriate time with reference
2 to the administration of the other depressants?

3 A Valium?

4 2 Yes.

5 A Yes. In my opinion, yes, they were used at
6 appropriate times.

7 2 Do you have an opinion as to whether there was
8 any cumulative effect of the various depressants that were
9 used in this case?

10 A Well, I am not a toxicologist and I wouldn't pretend
11 to be totally familiar with all of the ins and outs of this,
12 but I am familiar with the use of these drugs and use them
13 all the time. Much of the use of these drugs have to be
14 titrated to the individual. What I mean by that is that,
15 for instance, I might take 5 milligrams of Valium and it would
16 put me to sleep on the spot. Another individual might take
17 5 milligrams of Valium and you won't even notice any effect.
18 In another patient it might take 10 to 15 milligrams of the
19 same drug. The same is true for Pentobarbital. For instance,
20 I have used Pentobarbital actually quite frequently in the
21 intensive care unit to reduce intercranial pressure and have
22 given doses of 100 to 200 milligrams, I.V., in one push.

23 Q What is the significance of that as related to
24 Mr. Bridge's case?

25 A Well, Mr. Bridge's was getting 200 to 250 milligrams

1 into his muscle. The release and the absorption of that
2 is much slower. By I.V. I mean actually directly into the
3 venous system, We have given up to 250 and even 300
4 milligrams in cases in order to try to reduce the inter-
5 cranial pressure.

6 Q Intravenously?

7 A Yes.

8 Q Well then would you say that this particular
9 dosage was not excessive?

10 A In my opinion, it was not excessive, no. Again,
11 it depends on....you have to watch the response of the
12 individual who is receiving the drug and part of how you
13 use it depends on that. But it would appear that this was
14 for this man a very reasonable dose and he was not overly
15 responding to it.

16 Q Doctor, what is Cheyne-stokes breathing?

17 A Cheyne-stokes respiration describes a state of
18 breathing in which if you were to observe the individual
19 there is rise in the rapidity with which the breathing occurs,
20 and then a slow falling off, followed by a period of non-
21 breathing, and then this crescendo, decrescendo, and falling
22 off with a slight period of apnea or non-breathing, and this
23 is related to the retention of carbon dioxide. Carbon
24 dioxide in our blood is one of the things that drives the
25 respiratory center. If you hyperventilate and blow off the

1 CO₂ , you tend to have a period where you don't breathe
2 so that the CO₂ can build back up again. We do see this
3 during normal sleep in some individuals where they have
4 Cheyne-stokes respiration, particularly elderly people, and
5 also sometimes small infants who have not...whose brains
6 haven't totally matured yet will demonstrate this. If you
7 have ever watched a baby, small baby sleep, in fact it is
8 frightening sometimes, their mothers shake them because
9 they have this period when they aren't actually breathing.
10 But when we see it in a patient such as Mr. Bridge who has
11 suffered some sort of severe injury to the brain, it is
12 usually a very poor prognostic sign. That means that it
13 is an indication to us that the patient does have increased
14 intercranial pressure and that this increased intercranial
15 pressure may be reaching a very significant point where there
16 may actually be compression of the respiratory center in
17 the brain stem. Not infrequently the presence of Cheyne-
18 Stokes respirations may precede a respiratory arrest in a
19 patient with increased intercranial pressure and be the
20 result of the demise of the patient.

21 Q Well Doctor, was there Cheyne-stokes breathing in
22 this case according to the records you have reviewed?

23 A It is very difficult for me to tell whether there
24 was Cheyne-stokes breathing or not. This is not something
25 that is common, If you are working in a neurosurgical

1 intensive care unit, or if you work with neurological
2 patients frequently you might see this. But it is not
3 something that is commonly encountered. I know the term
4 has been used here. It is difficult for me from the
5 descriptions to say whether it was or it wasn't present.

6 Q Would the clinical judgement of the neurologist
7 in charge or who is actually in observation, would that be
8 of greater significance to you than, or would it not, than
9 simply reading the cold record?

10 A Well, to me the most significant thing would be
11 the report of the neurologist because this is not something
12 that just anyone is qualified to report on. I mean it is
13 a neurologic sign and a neurological consultant, neurosurgeon
14 or a neurologist, would be the most appropriate one to
15 report on it,

16 Q In a case of a patient such as this one who had
17 had a triple or quadruple bypass, and had had a stroke, and
18 was of the age of Mr. Bridge, and if Cheyne-stokes breathing
19 were to appear three days post-operatively or in that
20 general area, what would be the particular significance and
21 the prognosis of that combination of facts?

22 A Well, in this particular case and as described here
23 it would be a very, very serious and significant prognosis
24 or prognostic sign and indicate to me that indeed Dr. Friedmar's
25 initial diagnosis of cerebral embolus to the middle cerebral

1 artery, with aphasia and hemiplegia, with resulting brain
2 edema had indeed occurred and by the time . . . by 72 hours
3 later that this cerebral edema had indeed progressed and
4 was producing enough swelling to result in this very
5 significant neurologic sign: that is Cheyne-stokes
6 respirations.

7 Q Given that combination of history and symptoms,
8 what would be the prognosis in all probability, that
9 is the greater probability of the facts medically, for such
10 a patient at that stage 72 hours post-operatively?

11 A In all probability, and I have seen several
12 patients exactly in this condition, the outcome would be
13 the death of the patient more likely than not. If by some
14 chance the patient should survive, he would undoubtedly
15 be rendered extremely disabled with aphasia and a severe
16 right hemiparesis at best.

17 Q Do you have an opinion, Doctor, on your medical
18 education, your experience, and the review of these
19 records as to what the cause of death of Ms. Bridge?

20 A Yes, I have an opinion.

21 Q What is your opinion?

22 A It is my opinion that Mr. Bridge unfortunately did
23 suffer a complication, a very known and feared complication,
24 of this surgery. That he had an embolus to his middle
25 cerebral artery. That this embolus was quite massive. That

1 it resulted in occlusion and ischemia of the left hemisphere
2 of his brain.

3 3 Excuse me. What does ischemia mean for the jury,
4 Doctor?

5 A Ischemia means lack of blood supply.

6 Q Okay.

7 A And again, as I said before, the brain needs a
8 constant supply of oxygen and sugar in order to survive.
9 These was a very large area irrigated by this middle
10 cerebral artery that no longer was getting its oxygen and
11 glucose. **As** a result, the blood cells were damaged...or the
12 brain cells were damaged. Those brain cells started
13 reproducing or allowing fluid to leak out through them.
14 The progressive leakage of the fluid led to brain swelling,
15 the brain edema. The brain edema continually increased
16 until there was pressure on the brain stem and depression
17 of the vitals functions in this man. That the cause of
18 death was directly a result of the increasing brain swelling
19 and compression of the brain stem.

20 Q Doctor, do you feel that the administration of
21 Nembutal in the amounts and at the times it was administered
22 in this case was appropriate for good medical care,
23 specifically good neurological care, in 1981?

24 A Yes, I do.

25 Q From a total review of this record and with all **your**

1 knowledge and education, do you feel that in any respect
2 there was a departure from the appropriate standard of
3 care by Dr. Friedman, the neurologist?

4 A Again, I have not had an opportunity to re-review
5 all of this, but based on my letter to you of May 3rd,
6 it is my opinion that Dr. Friedman was acting within the
7 standard of care for a neurologist or neurosurgeon in
8 treating this condition as 1981.

9 Q Thank you, Doctor. You may examine.

10 MR. MILLER: Off the record a moment,
11 please.

12 OPERATOR: We're off the record.

13 OPERATOR: We're on the record.

14 DURING CROSS EXAMINATION BY MR. CHARLES MILLER:

15 Q Dr. Miller, my name is Charles Miller. We just
16 met at the start of this deposition. I have never had
17 any conversation or communication with you about this
18 case.

19 A No.

20 Q Is that true?

21 A That is correct.

22 Q And our first acquaintance was just at the start
23 of this deposition here down in the department of
24 neurosurgery at Ohio State University Medical Hospital?

25 A Correct.

1 Q All right. Today is the 25th of February. This
2 case is scheduled to go to trial in Cleveland...in Akron,
3 excuse me, on or about the 30th of June or early July,
Do I understand that you will not be able to or have other
5 plans so that you will not be able to testify in person
6 in Akron, Ohio in this case?

4:25:53 - MR. PIERSON: Objection. The
question is irrelevant under the
statute....

9
10 MR. MILLER: Well....

11 MR. PIERSON: Excuse me. Let me
12 make my point. The statute permits the
13 taking of the deposition of physicians
14 for use at the trial.

15 Q Do I understand that you don't have any plan to
16 be at the trial in person?

17 A No. I have never said that.

18 Q All right. Well, do you whether or not you will
19 be in person?

20 A I plan to if I am asked.

21 Q Oh, you haven't been asked, is that it?

22 A Not specifically yet,

23 Q Okay, Well, in the event that you are not asked
24 to appear in person, now is the time that I have got to
25 ask you a few questions on cross examination on behalf of

1 my client Janette Bridge, and so we will proceed on that
2 basis then now. Doctor, as you described in your testimony
3 on behalf of Dr. Friedman for Mr. Pierson, Nembutal is
4 a depressant of the center located in the brain that
5 controls and regulates breathing, is that correct?

6 A Correct.

7 Q And Valium also is a depressant of the breathing
8 control and regulator center located in the brain?

9 A It can be, yes.

10 Q And is that also true about Morphine?

11 A Yes.

12 Q And each of these three drugs, that is Nembutal,
13 Valium, and Morphine, through its action on the brain
14 also can depress consciousness?

15 A That is true.

16 Q And each, if given in excess, can cause what is
17 known I believe as obtundation?

18 A Right.

19 Q What is the meaning of that word, Doctor?

20 A It simply means sleepy.

21 Q All right. And each of the three drugs, that is
22 Valium, Nembutal, or Morphine, if given in excess can cause
23 aphasia, is that also true?

24 A No, that is not true.

25 Q Is that true of Nembutal?

1 A No, that is not true.

2 Q Is that true of Valium?

3 A No, not that I am aware of.

4 Q All right. Or if given concomitantly, that is
5 more or less at the same time, can Valium and barbi....and
6 the barbituate, Nembutal, cause aphasia?

7 A No.

8 Q Aphasia means the inability to communicate and
9 receive information?

10 A Yes,

11 Q And if one is heavily sedated then one may lose
12 that ability or be aphasic?

13 A This is.....well, no, not aphasic. That is
14 different. Aphasia is a specific neurologic diagnosis.
15 If someone is obtunded, sleepy, for whatever reason, whether
16 from medication or from the effects of increased intracranial
17 pressure, let's say, then they may appear to be the same, but
18 it is not aphasia.

19 Q Uh-huh. Well... ..

20 A An experienced neurologist would recognize the
21 difference because there is a specific difference.

22 Q All right. But Valium Nembutal, if given in excess,
23 can cause loss of consciousness?

24 A Oh, sure. Yes, absolutely.

25 Q And when one loses consciousness one loses the ability

1 to communicate?

2 A Yes.

3 Q Or to integrate, as you said, information coming
4 to him?

5 A Of course.

6 Q All right, And Nembutal and Valium, if given in
7 excess, may cause coma, may they not?:

8 A Yes.

9 Q And they may cause coma that progresses to death
10 of the patient, if given in excess?

11 A Certainly.

12 Q Doctor, if Nembutal and Valium, which are both
13 depressants of the central nervous system, are in the
14 circulatory system of a patient at the same time, may each
15 potentiate or increase the depressant effect of the other?

16 A Well, potentiation is different than the second
17 thing that you said. If something potentiates another
18 that means that simply by giving one drug you enhance the
19 action of another drug. To my knowledge none of these
20 drugs enhance the activity of the others.

21 Q But you....

22 A And they act in different ways.

23 Q You are not trained in toxicology, do I understand?

24 A No, I am not.

25 Q All right.

1 A But I have had pharmacology.

2 Q Pardon me?

3 A I have had some pharmacology.

4 Q Well, every doctor studies some pharmacology,
5 don't they?

6 A Right.

7 Q All right. Well, if Nembutal and Valium are
8 given to the same patient concomitantly, that is during
9 a period of time at different points that each drug is
10 given to the patient, may one be considered an additive
11 to the effect of the other in terms of the effect on the
12 central nervous system?

13 A I guess in a general way one might say that.

14 Q All right.

15 A But a lot would depend on the dosage.

16 Q Oh, certainly. Doctor, does the word apnea mean,
17 if I understand your testimony, a stopping or cessation
18 of breathing?

19 A Yes.

20 Q All right. From your knowledge and training,
21 Doctor, as well as your experience, can overdosing of
22 a post-operative patient in an I.C.U. setting with the
23 barbituate, Nembutal, and the drug Valium so depress the
24 breathing control center in the brain as to cause him to
25 stop breathing for part of consecutive minutes?

1 A No. I mean it would cause cessation of breathing,
2 period, and then it wouldn't resume. But it would not
3 cause a situation such as Cheyne-stokes respiration.

4 Q My question is not directed to Cheyne-stokes
5 respiration.

6 A YOU are asking me about apnea?

7 3 Yes, just apnea,

8 4 Well, in the context in which you are using it
9 it doesn't cause apnea, no. It total cess....it may cause....
10 if these drugs, given in excess, produce a respiratory
11 effect it is absolute and that is it. The patient has the
12 respiratory arrest and they don't come back from it. It is
13 not something where he would be likely after, say, fifteen,
14 twenty seconds, or whatever, to start breathing again.

15 Do I....

16 That is what apnea means.

17 Yes., Do I understand you to say, Doctor, that
18 a patient may react to Nembutal, multiple doses of Nembutal..
19 let me withdraw that,, I'll rephrase the question, Dr. Miller .
20 Do I understand you to indicate that a patient may not
21 react to multiple doses of Nembutal with that sign that is
22 referred to apnea or cessation of breathing?

23 Well, apnea....yes, a patient who is given an
24 overdose of Nembutal, Valium, or Morphine can have cessation
25 of breathing.

1 Q All right, And may we regard cessation of breathing
2 as synonymous with apnea?

3 A In the context in which you are using it, no, I
4 will not.

5 Q Well, I don't know what context you think I am
6 using it and I don't mean to be cloudy about this. By
7 apnea I am suggesting or saying, Doctor, in the question
8 a cessation or stopping of breathing that occurs and then
9 the breathing resumes?

10 A No. In that context Nembutal or Valium does not
11 cause it.

12 Q And are you saying that it can cause apnea only
13 to the point that the patient stops breathing forever?

14 A If it is given in the dose that is going to cause
15 cessation of breathing, the patient doesn't start breathing
16 again, that is correct. That is what I am saying.

17 Q That is your understanding of Nembutal?

18 A Nembutal, Valium, and Morphine and I have had
19 direct experience with all of these drugs, used them
20 clinically, and witnessed the cessation of respirations,
21 and had to intubate patients and manage them after their
22 respirations have ceased.

23 Q Do you know what is commonly referred to as
24 the "PDR", Physicians Desk Reference?

25 A Yes, I do.

1 Q And what does that consist of?

2 A It is put out, number one, by the drug companies.
3 It is a compilation of commonly used and current medications.
4 In that are the chemical aspects of the drug, the recognized
5 side effects, and also the purpose for which the drugs should
6 be used, and the standard dosages.

7 Q Does that information also contain the contra-
8 indications to the use of the drugs?

9 A Yes.

10 Q And also does it set forth as published by the
11 manufacturer of each drug any precautions or warnings that
12 are to be considered in connection with the use of the
13 drug?

14 A Yes, from the manufacturers point of view.

15 Q Do you understand that one or more of the attending
16 doctors did also prescribe Morphine?

17 A Yes.

18 Q Post operatively. And is it known, Doctor, that
19 Morphine when given post-operatively may cause some patients
20 to be agitated or to show restlessness?

21 A In general, Morphine is given for just the
22 opposite reason to....

23 A No, my question, Doctor.....

24 MR. PIERSON: Excuse me. Let her
25 finish the answer, please,

1 Q Doctor, if you can answer a question either yes or
2 no, if you fairly can answer it yes or no I will appreciate
3 it.

4 A Uh-huh.

5 Q Do you know whether or not Morphine may cause
6 some patients post-operatively to show signs of agitation
7 or restlessness?

8 A I have never experienced that in my use of
9 Morphine.

10 Q So beyond your use of Morphine you are not
11 aware then of whether it may show those?

12 A I have never seen it.

13 Q All right. Does thrashing mean to move about in
14 a bed?

15 A In general, yes.

16 Q And may that term refer to movement of the
17 extremities?

18 A Yes.

19 Q Doctor, you have told us that you are board certified
20 in the area of neurosurgery. That is a different specialty
21 than the area of either toxicology or pharmacology?

22 A Oh, yes.

23 Q Doctor, are you aware of what the effect, if any,
24 is of the administration of Tagamet on the metabolism of
25 Valium?

1 A No, I am not.

2 Q All right. I take it then you don't know or you
3 are not aware of whether or not Tagamet inhibits, that is
4 slows down or reduces, the metabolism by the body of
5 Valium?

6 A No, I do not know that.

7 Q Doctor, do you know based on a reasonable medical
8 certainty what the half life of Valium became after it
9 was injected into the patient, William Bridge, in multiple
10 daily doses in the presence of Tagamet administration to
11 Mr. Bridge?

12 A No, I don't. (VO)

13 4:39:22 - MR. PIERSON: Objection.

14 Objection to the question in as much
15 as it uses the word multiple. It is
16 not an accurate description.

17 I Well Doctor, can you state without taking too much
18 time to look at the record, am I correct when I say that
19 there/^{were}multiple doses of Valium on a daily basis on Tuesday
20 the 28th....correction....on Tuesday the 27th of January
21 and Wednesday the 28th of January?

22 Let me take a quick look if I may?

23 Certainly, Doctor. Off the record while the
24 doctor looks.

25 OPERAT R: We're off the record,

1 OPERATOR: We're on the record.

2 Q Doctor, in the interest of saving time I'll refer
3 to a summary that I have here. I will ask you to assume
4 as being true, Dr. Miller, that on the 27th of January
5 there were approximately 17.....

6 A That is the 27th?

7 Q Yes, Doctor.17 doses of Valium administered
8 intravenously to Mr. Bridge between 1:25 a.m. and 7:35 p.m.
9 that day.

10 A Yes, in 2.5 milligram doses.

11 Q Correct. That would be considered multiple
12 doses, would it not?

13 A Well, more than two is multiple.

14 Q Right. Okay. And similarly, Doctor, am I correct
15 in saying that between 8:30 a.m. on Wednesday the 28th
16 and 4:45 p.m. of that same day there were exactly or
17 approximately 9 doses of Valium injected intravenously
18 into Mr. Bridge, each of which was 2.5 milligrams?

19 A Well, I'm going on the basis of what you are
20 telling me since I can't find that.

21 Q Well, assuming that to be substantially correct,
22 Doctor, that again would be considered multiple dosing of
23 the drug Valium?

24 A And I would consider it.....

25 Q Is that correct, Doctor?

1 A That is multiple doses, yes.

2 Q All right. Thank you, Doctor. Now Doctor, do
3 you know based upon a reasonable medical certainty what
4 the duration of the effective action in a patient is of
5 a 2.5 milligram dose of Valium if it is given multiple
6 times daily to a patient who also is being administered
7 Tagamet?

8 A No, I do not.

9 Q All right. Doctor, do you know based upon a
10 reasonable medical certainty what the probable range of
11 concentration of Valium in the blood of William Bridge was
12 on the mid-morning or early afternoon of January 29th?

13 A It isn't recorded, and I would say that it would
14 be impossible to know without having a blood sample.

15 Q The answer is you don't know, is that correct?

16 MR. PIERSON: No, that isn't what she
17 said.

18 A No, that is not what I said.

19 Q Well my question, Doctor, was can you state based
20 upon reasonable medical certainty what the concentration of
21 Valium was on the 29th in the morning or early afternoon?
22 I take it your answer is, no, you don't know.

23 A No, I can not. I don't think anyone can.

24 Q All right. Again, you are not a trained toxicologist,
25 are you, Doctor?

1 A No.

2 Q Now Doctor, do you know again based on a reasonable
3 medical certainty what effect the administration of Tagamet
4 had on the half life of each of the multiple doses of
5 Nembutal which were injected into Mr. Bridge?

6 A No, I don't.

7 Q Doctor, do you know based upon reasonable medical
8 certainty what the half life of Nembutal became after it
9 was injected in Mr. Bridge in multiple daily doses of
10 100 milligram or more in the presence of Tagamet
11 administration to the patient?

12 A No, I do not.

13 Q All right. Doctor, do you know based on reasonable
14 medical certainty what the duration of the effective action
15 became of 100 milligram or of a 200 milligram dose of
16 Nembutal where it is injected in multiple daily doses to
17 a patient who is also being administered Tagamet?

18 A No, but I have given Nembutal or Pentobarbital
19 to patients who are also on Tagamet and can speak from
20 experience of I.V. dosage. From my experience it has not
21 appeared to having any effect on how long the Pentobarbital
22 stays in the system. In other words, it hasn't influenced
23 our having to decrease or increase the amount of Nembutal
24 in order to control the intercranial pressure which is
25 what we primarily used it for, But I can not say

specifically what Tagamet does to Nembutal half life, no.

Q Doctor,. do you or can you tell me the patient that you have referred to or patients you referred to whom you were attempting to control intercranial pressure in an I.C.U. unit, do you know whether there were controls being utilized or some monitoring being done to protect the patient against over sedation with a central nervous system depressant?

~~Dr. Peterson~~ In which case? Her patient or this case?

Q No. Her experience in the I.C.U. that she spoke of,

A In our patients, actually we were using it as a central nervous system depressant and specifically in most cases attempting to depress the respiratory center and the conscious center. So these patients were, in general, intubated and we were monitoring their intercranial pressures.

Q Okay. And those are part of strict controls for the safety of the patient, aren't they?

A In the doses that we were using, yes.

Q And by intubated you mean they were on a mechanical respirator?

A Yes.

Q And that breathes for the patient?

A Correct.

1 Q So that if per chance too much central nervous
2 system depressant were to be given, so depressing the
3 breathing center, that the patient's life would not be in
4 danger because of the mechanical respirator?

5 A No, that is not what I said.

6 Q But is that correct?

7 A No.

8 Q Well, the mechanical respirator is there to help
9 the patient breathe?

10 A But that **is** not why we did **it** because in our
11 use of the Nembutal we specifically wanted to depress the
12 respiratory center and therefore **it** was essential. If we
13 were to use lesser doses **it** might not be necessary to
14 intubate the patient.

15 Q Were you intending to put the patient into a coma?

16 A Yes.

17 Q Or a slight coma?

18 A Well, there is no such thing as **a** slight coma.
19 It is like being a little bit pregnant. You are either
20 in coma or, you know, or you are not.

21 Q Right, Doctor. And when **you** said you were measuring
22 the intercranial pressure you had a gauge in the skull,
23 did you, to....

24 A Either in the skull or into the fluid areas of the
25 brain.

1 Q So that you would.....

2 A Not always,

3 Q But by using the gauge you were able to detect or
4 to measure, if I may, whether the therapy, the medical
5 therapy with the depressant if it was barbituate, whatever
6 it was, was achieving the desired effect?

7 A Correct.

8 Q And were you also taking blood studies of the
9 concentration in the blood of the barbituate?

10 A Usually, yes. At periodic intervals.

11 Q All right. Do you know whether or not there
12 was any deliberate attempt here by Dr. Friedman or
13 Dr. Sokol in their use of the barbituate, Nembutal, on
14 Mr. Bridge to induce him into some state of coma?

15 A I think that it was being used here more to
16 reduce the restlessness and not to induce coma.

17 Q Doctor, by the way, referring back to something
18 you said very early on, you said that Nembutal had been
19 recommended by Dr. Friedman. The fact is, Doctor, that
20 actually the orders for Nembutal were signed...written
21 and signed or countersigned by both Dr. Sokol and
22 Dr. Friedman, isn't that true?

23 A It may be. I would have to go back and re-review
24 the chart.

25 Q All right. Doctor, at the time a physician writes

1 or signs a physician order in a hospital chart authorizing
2 nurses to inject one or more than one prescriptive drug
3 or prescription drug into the body of their patient, does
4 the prudent practice of medicine require that the ordering
5 doctor should know or be familiar with what the warnings
6 are, if any, which are to be taken into account in connection
7 with the use of that drug?

8 A In general.

9 Q And Doctor, in that same circumstance about
10 physicians ordering prescription drugs for patients who
11 are in the hospital to be administered by the nurse or
12 nurses, does acceptable medical practice requiring that
13 the ordering doctor should know or be familiar with what
14 the precautions are, if any, which should be taken in
15 connection with his use on that patient of each of the
16 drugs he has ordered?

17 A I think that is a reasonable statement, yes, in
18 general.

19 Q And Doctor, also does the careful practice of
20 medicine dictate that the ordering doctor should know or
21 be familiar with what the potential toxic effects and
22 adverse reactions are which are associated with the
23 administration of each drug he orders?

24 Yes, but I don't necessarily recognize the
25 P.D.R. as, "the be all and the end all."

1 Q Of course not. There are multiple references in
2 the hospital pharmacy generally, are there not, Doctor?

3 A I recognize Goodman and Gillman as the fundamental
4 pharmacology text.

5 Q Excellent. It is the bible in the trade so to
6 speak, isn't it?

7 A Correct.

8 Q And similarly, Doctor, does good and acceptable
9 medical practice requiring that the ordering doctor, with
10 respect to drugs for hospitalized patients, be familiar with
11 or know what drug interactions may be expected to occur in
12 his patient's body as to the drugs he is ordering or the
13 drugs that any other attending physician is ordering being
14 given to that same patient during that same post-operative
15 period?

16 A I think in a general way, yes. I don't think that
17 any of us knows specifically all of the drug interactions.
18 In fact, this is an area of medicine that is only starting
19 to come to light in view of all of the different drugs which
20 we have available to us.

21 2 Well how many years, to your knowledge, has
22 Nembutal been on the market?

23 A Pentobarbital, a long time.

24 2 20 years or more?

25 A I don't know. I think at least 20 years.

1 Q And similarly Valium?

2 A Valium has been around 20 years, yes.

3 Q And Morphine Sulfate?

4 A It has been around longer than 20,

5 Q Right. When a nurse prepares to execute a
6 physician order by administering a prescription drug to
7 a hospital patient, does good and acceptable nursing
8 practice require that before she injects a particular
9 drugs such as a drug that depresses the central nervous
10 system into her patient, the nurse either should know
11 or be familiar with the indications and the contraindications
12 for the use of that drug?

13 A In general,

14 Q And similarly with respect to a post-operative
15 patient, one say in an I.C.U., that is intensive care unit,
16 does the prudent and appropriate practice of nursing require
17 that the nurse, before she administers a central nervous system
18 depressant drug to her patient, should know or at least
19 be familiar with what the potential toxic effects and adverse
20 reactions are which are associated with the use of that
21 particular drug?

22 4:54:42 - MR. PIERSON: Excuse me. Let
23 me object to questions about nursing
24 care which do not come within the
25 bounds of cross examination of the

1 testimony of this witness. I move to
2 strike this question and the previous
3 question and the answer.

4 MR. MILLER: Thank you, Mr. Pierson.

5 Q You may answer, Dr. Miller.

6 A Again, in general, yes.

7 Q All right.

8 4:55:03 - MR. PIERSON: Same objection.

9 Q And lastly, Doctor, if you can fairly answer either
10 yes or no, do the standards of proper nursing practice
11 require that the nurse should at least be aware of, or
12 make herself aware of if she doesn't know, what drug
13 interactions may be expected to occur in her patient with
14 respect to the drug that she is giving and concerning
15 other drugs that either she or another nurse is giving that
16 same patient?

17 4:55:45 - MR. PIERSON: Same objection
18 and move to strike,

19 MR. MILLER: Thank you.

20 ! You may answer, Dr. Miller,

21 I am not an expert on what the standard of care is
22 for nursing. I don't feel I can answer that question.

23 You don't feel that as a doctor on the faculty,
24 who works daily, I presume, with nurses that you can answer
25 that question?

1 A No, I don't, (VO)

2 4:56:03 - MR. PIERSON: Objection.

3 Argumentative,

4 Q All right.

5 OPERATOR: Excuse me. We're off the
6 record.

7 END OF TAPE ONE.

8 START OF TAPE TWO.

9 OPERATOR: We're on the record.

10 DURING CROSS EXAMINATION BY MR. CHARLES MILLER CONTINUED.

11 Q Doctor, are you aware of how many doses of
12 Valium were injected into Mr. Bridge intramuscularly
13 between Monday January 26th at 11:45 p.m. at night
14 when he received his first dose of Valium and Wednesday
15 the 28th of January at 4:45 p.m. when he was injected
16 with the last dose of Valium?

17 No. I think I reviewed all of that at one time
18 and unfortunately I haven't had time to re-review all of
19 those specifics.

20 All right,

21 So I can't answer that sight now.

22 And do you know how much Nembutal Mr. Bridge was
23 injected with during the same period of time within which
24 the Valium was injected into him?

25 Again, I would have to re-review to know the

1 specific number.

2 Q Doctor, can you state, again based upon a reasonable
3 medical certainty, what the amount of Valium probably was
4 that was still left in Mr. Bridge's circulating blood
5 stream at 4:45 p.m. on Wednesday afternoon, the 28th of
6 January?

7 A No. And I don't think anyone can.

8 Q Well, Doctor, what other people may or may not
9 be able to do we won't decide here today. But at least
10 you are not able to with reasonable medical certainty,
11 are you?

12 A No. And I don't think anyone can. That is my
13 opinion.

14 Q All right, But I didn't ask you about what
15 other people thought, did I, Doctor?

16 A I am allowed to give my opinion.

17 Q But you are volunteering that, aren't you, Doctor?

18 MR. PIERSON: Let's not argue with
19 the witness. Let's get on with the
20 testimony.

21 Q Well Doctor, if you will please try to limit
22 yourself to the question and we will have other witnesses
23 testifying as to what their opinions are, okay?

24 5:00:02 - MR. PIERSON: I move to
25 strike the admonitions of counsel.

1 Q Well... .

2 A I am only giving my opinions.

3 Q All right, Doctor, if you can answer a question
4 without volunteering, would you please do so?

5 5:00:18 - MR. PIERSON: Objection, She
6 is not required to do that. She can
7 answer it in whatever she regards as
8 responsive to the question.

9 A I'll answer them as best I can.

10 Q All right. Responsive if you will please, Doctor.
11 By the way, what is the medical science known **as** toxicology?

12 A It is.....I suppose it could even be considered
13 again a specialty within medicine or pharmacology. I am
14 not sure that a toxicologist would necessarily have to
15 be an M.D. physician. It could be an M.D. physician or
16 a Ph.D. I guess I would consider it more of someone
17 who had specific knowledge of drugs and with special
18 knowledge as to what the undue side effects were of
19 not only dangerous drugs such as poisons, but **also** commonly
20 used drugs if given in excessive amounts.

21 Q And what is a pharmacologist, assuming the doctor
22 is also a pharmacologist or has a degree in pharmacology?

23 A Well actually a degree of pharmacology is separate
24 than a degree in medicine. A pharmacologist is an
25 individual who deals specifically with drugs, knowledge of

1 them, their chemical makeup, the indications, countra-
2 indications, and side effects. For instance, pharmacists
3 are probably, in general, more versed in specifically
4 medications, per se, in drugs than, say, physicians. They
5 have more training in it, but it is a very specialized
6 area.

7 Q But a doctor who has a specialty....a medical
8 doctor who has a specialty in pharmacology has had
9 extensive training or studies on the effect of drugs
10 on the human body?

11 A A special competence in that area just as I have
12 special competence in neurological surgery.

13 Q Sure. Doctor, the hospital chart shows that
14 Dr. A.I. Narraway, who was one of Mr. Bridge's three attending
15 physicians, visited and checked Mr. Bridge at 7:00 o'clock
16 in the morning of Thursday, January 29th. Now I ask you,
17 Doctor, are you aware of the opinion of Dr. Narraway who
18 has testified by deposition previously in **this** case that
19 from what ^{he} we observed in the hospital chart that morning
20 his patient, William Bridge, was improved neurologically
21 over the condition he was in when he had been examined by
22 Dr. Friedman on the afternoon of Tuesday, the 27th, almost
23 two days earlier? Are you aware of that fact, Doctor?

24 5:03:52 - MR. PIERSON: Objection.

25 A I don't recall it from reading the notes, no.

1 Q All right. Are you aware, Doctor, of the opinion
2 of Dr. Narraway in his earlier testimony that where improvement
3 in William Bridge's neurological condition may have been
4 indicated by increased movement on the morning of the 29th,
5 this meant to Dr. Narraway that any cerebral edema was
6 resolving, and that the resolution or resolving of edema
7 is a positive ^{step} forward to health? Were you aware of his
8 testimony to that effect?

9 5:04:37 - MR. PIERSON: Objection. I
10 think it is irrelevant to her neurological
11 opinions in this case.

12 Q I take it you were not aware of Dr. Narraway's
13 earlier testimony, were you?

14 A I don't recall it. I may have read it, but I
15 don't recall it right now.

16 5:04:48 - MR. PIERSON: Move to strike
17 the question and the answer, both
18 questions and answers, with reference
19 to Dr. Narraway's opinions.

20 Q Do you agree that the resolving of cerebral edema
21 after a stroke is a positive step forward and certainly to
22 be desired?

23 A Well, it is obviously a positive step, but I don't
24 know....but there are specific things that have to be done
25 in order to come to that conclusion and I don't know that they

1 were done.

2 Q I take it you have found no fault with Dr. Narraway's
3 judgement in this matter?

4 5:05:29 - MR. PIERSON: Objection.

5 A I did not really review this with respect to
6 Dr. Narraway,

7 3 Well, summaries of his testimony were mailed
8 to you, isn't that correct?

9 A Yes.

10 2 Did you read those summaries?

11 A Again, I haven't re-reviewed them and I can't
12 recall them in specifics enough to answer you right now.

13 ! Did you review the summary of the testimony given
14 by Dr. Narraway which Mr. Pierson sent to you?

15 5:05:53 - MR. PIERSON: Objection on
16 the grounds of relevance and competence.

17 You may answer, Doctor.

18 Again, I did review them almost a year ago and I
19 don't recall what I read.

20 All right, Doctor, I hand you a copy of one of
21 those summaries prepared by Mr. Pierson's associate in his
22 law firm, or one of his associates, in the firm of
23 Buckingham, Doolittle, and Burroughs, and this review is
24 dated....the summary, excuse me, is dated...of Dr. Narraway's
25 testimony is dated May 17, 1984. Now do you recall having

1 seen that summary some months ago?

2 A Frankly right now I don't, no.

3 Q Uh-huh .

4 A But, you know, I did review them and this may be
5 the one that I reviewed, I don't remember.

6 2 And the last page over the initials of Seth Jacobs
7 who was the attorney who attended the depositions of
8 Dr. Narraway and prepared the summary, that Past page which
9 is page 6, is it correct that it is stated in the last,
10 or second to third last sentence of the second paragraph,
11 that after he, referring to the patient, Mr. Bridge,.....
12 quote, "After he was off the respirator he could recognize
13 or respond to people around him,"

14 5:07:30 - MR. PIERSON: Objection, If
15 the question is whether the testimony
16 is correct or not, I don't know that
17 the doctor is qualified to answer. I
18 think it is irrelevant and improper to
19 ask this doctor to comment upon the
20 veracity or the accuracy of another
21 witnesses' opinions or observations.

22 Doctor, do you recall reading that paragraph?

23 A Well, I recall it because I read it about 5 seconds
24 ago.

 All right.

1 a It is in the report.

2 Q All right. Doctor, may it make it difficult for
3 attending physicians to find improvement in the post-operative
4 condition of their stroke patient if, whenever there is
5 movement by the patient, that movement is suppressed by
6 dosing the patient with a sedative? Can you answer that
7 question yes or no, Doctor?

8 A No, I can not.

9 Q All right. Doctor, when you made reference in
10 your direct testimony to that pattern of breathing that is
11 called Cheyne-stokes breathing, do I understand that with
12 respect to the treatment of Mr. Bridge that term,
13 Cheyne-stokes, came to your attention because it was testified
14 to by Dr. Friedman in one of his depositions?

15 A As far as I can recall, yes.

16 Q In other words, am I correct, Doctor, that in so
17 far as you can remember the term, quote, "Cheyne-Stokes,"
18 or, quote, "Cheyne-Stokes respirations," was not written
19 in the hospital chart by anyone of the three attending
20 physicians?

21 A Again, it has been a time unfortunately since I
22 had a chance to review these. I don't recall all the
23 specifics of that review. I only got these records back
24 yesterday. Before I could answer yes or no to that statement
25 I would have to go back and re-review them.

1 Q Doctor, and also with respect again in the similar
2 vain to the term you used early in your direct testimony,
3 quote, "global aphasia." You said I believe that Dr. Friedman
4 reported, quote, "global aphasia." When you said that I
5 take it that you meant that that term, global aphasia, was
6 referred to in the summary by the Sawyers of Dr. Friedman's
7 testimony?

8 A No. Actually I believe that I was referring
9 specifically to Dr. Friedman's consultation on the day
10 that he initially saw Mr. Bridge.

11 Q Am I correct, Doctor, in believing that the word
12 used in Dr. Friedman's consultation to describe one of
13 his findings was the word aphasia without the adjective,
14 quote, "global."

15 A Well again, if I could find that consult which I
16 had here I could answer that specifically.

17 Well suppose I loan you for a moment my copy of
18 that page and you indicate whether I am correct?

19 Sure.

20 Thank you, Doctor.

21 Sure. "The patient is aphasic with spontaneous
22 mumbling, et cetera."

23 Fine. So the word, quote, "global," is not part
24 of Dr. Friedman's consult record, is it?

25 That is correct.

~~vein~~
~~vein~~

1 Q All right. And in the same ~~vain~~, Dr. Miller,
2 is it also correct that no where in the hospital record
3 did Dr. Friedman, or Dr. Sokol, or Dr. Narraway ever write
4 that the patient, William Bridge, at any time during his
5 post operative period was hemiplegic?

6 A Could I see that again? No, actually I
7 remember because Dr. Friedman said that he was moving
8 his leg or his arm less well than his leg. It doesn't
9 say hemiplegic. Severe hemi....I don't even know if it
10 says severe hemiparesis, but the implication was severe
11 hemiparesis.

12 Q All right, Doctor, that is the implication that
13 you make, is that correct?

14 A No. I think that was the implication that was
15 made in the chart,

16 Well, isn't it true, Doctor, that what
17 Dr. Friedman wrote on the 27th, on the day of his
18 consultation, were the words, quote, "Right hemiparesis,
19 arm more than leg."

20 Yes.

21 And you don't know of any entry by Dr. Friedman
22 after that or before that time or by any other doctor that
23 says that William Bridge at any time in his post-operative
24 period was, quote, "hemiplegic," do you, Doctor?

25 Again, I would have to re-review the chart again

1 before I would answer yes or no to that statement,

2 Q If I indicate to *you* that.....off the record,

3 OPERATOR: We're off the record.

4 OFF THE RECORD DUE TO MICROPHONE
5 ADJUSTMENT.

6 OPERATOR: We're on the record.

7 If I indicate to you that no where in the hospital
8 chart does any one of the attending physicians or anybody
9 else use the descriptive term hemiplegia or paralysis
10 with respect to Mr. Bridge's condition post-operatively,
11 will *you* accept that representation?

12 5:14:56 - MR. PIERSON: Object.

13 Well again, I would rather have the opportunity
14 to re-review these myself before I answer yes or no, and
15 I apologize to you.

16 But as of this moment now and it is close to your
17 quitting time, I see it is a little bit after 5:00.....

18 No, not really quitting time, Just quitting time
19 for this deposition.

20 All right. At least as of this moment, Dr. Miller,
21 *you* are not able to remember any entry in the hospital
22 chart that refers or describes to Mr. Bridge's condition
23 post-operatively as being a condition in which he had
24 hemiplegia, isn't that true?

25 A Again, I really don't want to answer that without

1 adequate opportunity to review the record.

2 Q Doctor, are you aware of whether or not over
3 sedation, that is over dosing, with barbituates can cause
4 what is known as Cheyne-stokes breathing?

5 A I am not aware that **it** can, no. I have never seen
6 **it**.

7 Q Well, do you know whether Goodman and Gillman
8 indicates or other drug references that are considered
9 reliable in the field indicates that Cheyne-stokes breathing
10 may be caused by overdosing with barbituates?

11 A I guess I would have to re-review **it** to know
12 for sure if **it** said **it**.

13 Q All right. Can you help me understand, **Doctor**,
14 what the importance is of monitoring of blood levels when
15 you are intentionally inducing coma by the use of barbituate
16 therapy?

17 5:17:46 - MR. PIERSON: Objection to
18 the relevance of that question in this
19 case.

20 Q You may answer, Doctor.

21 A Of blood levels?

22 3 Yes, Doctor,

23 A Well, **we** generally get them primarily to determine
24 what the next dosage of Pentobarbital, for instance, may be
25 in the intensive care unit situation. **As** I said before,

1 the way I have usually used Pentobarbital is to induce coma
2 and to stop the patient's respirations. Now if you are
3 using it to a lesser degree to, say, reduce restlessness
4 it might even be....it would be important so that you
5 wouldn't overdose the patient. Again, different patients
6 respond differently to medications. They metabolize them
7 differently. So you monitor the patient's response to the
8 drug with what the blood level is. There is....particularly
9 with barbiturates there often are not absolutes with regard
10 to the patient's blood level and what you anticipate to
11 observe in the patient's response.

12 Q And Dr. Miller when you do use Sodium Pentobarbital,
13 that is Nembutal, to induce a state of coma and stop....
14 and thereby stop the breathing of the....or suppress the
15 breathing of the patient, the mechanical respirator is
16 operating, is it?

17 A When we do it for....when we make the patient
18 comatose, yes.

19 Q And what dosages do you use when you involve
20 yourself in that type of barbiturate therapy?

21 5:19:54- MR. PIERSON: Objection to
22 the relevance of that in this case.

23 MS. TAYLOR: Objection.

24 Q You may answer, Doctor.

25 A It is actually quite variable. Not infrequently

1 we may start out with a bolus dose of 500 milligrams, I.V.,
2 giving it over a period of 5 to 10 minutes, and watch the
3 patient's response and what their respiratory rate is. I
4 have given as much as 200 milligrams every 2 to 4 hours,
5 I.V. Again, depending on the response and the level of
6 unresponsiveness that I want to achieve in that particular
7 patient, and also related to what is happening to the
8 intercranial pressure.

9 Q As shown by the gauge?

10 A Uh-huh.

11 Q And that is 200 to 400 milligrams of Nembutal.,
12 how many times a day?

13 A Sometimes every 2 to 4 hours as needed.

14 Q For 12 hours or 14 hours?

15 A Sometimes for 5, 6, 7 days.

16 And they are comatose during that period of time?

17 Yes.

18 Thank you, Doctor. No further questions on cross
19 at this time.

20 Thank you.

21 MR. PIERSON: Any cross examination?

22 MS. TAYLOR: No. We have no cross
23 examination.

24 MR. PIERSON: I may have one or two
25 questions. Just a moment. May we

go off the record a second?

OPERATOR: We're off the record,

OPERATOR: We're on the record.

4 DURING REDIRECT EXAMINATION BY MR. CHARLES PIERSON:

5 Doctor, there have been a number of questions about
6 interaction of various drugs that are used, and particularly
7 these central nervous system depressants. I take it that
8 a neurologist or a neurosurgeon in using these drugs does
9 have occasion to switch from one drug to another?

10 Quite often,

11 And has that been your experience also?

12 Yes.

13 And in doing that kind of thing, do you have a
14 general knowledge of the effect in terms of the life, or
15 the half life, or the effective rate of these drugs before
16 you administer another drug?

17 In general.

18 And I assume that you know that and have been
19 aware of that in your practice/^{when}you administered successive
20 drugs of different types?

21 In general, yes,

22 In your review,...

23 And not infrequently when we are giving a drug I
24 may re-review it at that time in order to refresh my memory
25 about specifics. I don't necessarily always just depend

1 upon my memory for that.

2 Q With reference to the successive drugs that were
3 prescribed by Dr. Friedman in this case, in your review of
4 them, and particularly when you reviewed them a year ago
5 to make your preliminary report, did you find anything
6 in that record that was surprising or that you found outside
7 the normal standard of care for a neurologist in administering
8 successive drugs of different types?

9 A Again, as I said earlier, I felt that at that time
10 in reviewing it that it appeared to be reasonable considering
11 the status of Mr. Bridge at the time he was seen by
12 Dr. Friedman and considering the diagnosis, of course.

13 Q Would the presence of Cheyne-stokes breathing
14 be necessarily reported in the chart if it occurred, and
15 particularly if it occurred toward the end of his life?

16 A I could conceive that quite...unless it were
17 a trained neurologist, for instance, or neurosurgeon, or
18 a trained neurosurgery or neurological intensive care unit
19 nurse, that it might well be missed.

20 Q Is it possible that the attending physician, either
21 the neurosurgeon or neurologist, or one of the others,
22 might observe it but not write it in the record?

23 5:24:04 - MR. MILLER: Objection as
24 to possibilities on direct examination.

25 Q You may answer,

1 A Of course, that is **always** possible.

2 5:24:11 - MR. MILLER: Ask the answer
3 be stricken.

4 Q You have referred several times to your previous
5 to me made last year. I would like to offer a copy of
6 that as Defendant Friedman's Exhibit "2"...or Exhibit "B",
7 I think, for this deposition. I believe, Mr. Miller, has
8 a **copy** and I **will** make another copy available to Amy Taylor,
9 okay?

10 5:24:45 - MR. MILLER: Object as to
11 hearsay testimony.

12 Q Thank you. Excuse me, This letter that I have
13 marked Defendant Friedman's Exhibit "B" is in fact a
14 **copy** of the letter that you wrote to me and that you were
15 referring to earlier in your testimony?

16 A Well actually this is the original letter. It is
17 not a copy of it,

18 Q Okay. Thank you.

19 5:25:03 - MR. MILLER: Object to the
20 admission of that document.

21 Q All right. Nothing further.

22 DURING RECROSS EXAMINATION BY MR. CHARLES MILLER:

23 Q Very briefly, Dr. Miller, if you please. I **am**
24 curious, Doctor, are there to you knowledge on the staff
25 of this Ohio State University Hospital here in **Columbus**, Ohio

1 any medical doctors on the staff who are also or who also
2 is a pharmacologist, that is has a subspecialty of
3 pharmacology?

4 A I imagine there probably are. I don't know one
5 specifically, I don't know a name that I can give you.

6 Q Do you know whether there happens to be any doctor
7 on the staff here at the Ohio State University Hospital
8 who may be trained in internal medicine with a subspecialty
9 in the science of toxicology?

10 A No, I do not know.

11 Q And do you know of your own knowledge or have you
12 been informed of what Dr. Friedman told Mrs. Bridge when
13 he met her for the first time on Friday,....correction....
14 Thursday morning, the 29th of January at approximately
15 11:30 or so in the morning after Mr. Bridge had been
16 transferred to his service?

17 5:26:35 - MR. PIERSON: Objection.

18 Irrelevant, I don't think the question
19 should be asked of this witness. I
20 don't think it requires an answer.

21 Do you know what Dr. Friedman told Mrs. Bridge?
22 I have no idea.

23 You haven't been told?

24 I don't remember, to tell you the truth, if I have
25 been told or not. If I was told I don't remember.

1 Q

Thank you.

2 A

Okay,

3

MR. PIERSON: Thank you.

4

DR. MILLER: Thank you.

5

OPERATOR: We're off the record.

6

Dr. Miller, you have a right to review

7

this videotape to prove its accuracy

8

or you may waive that right.

9

DR. MILLER: I will waive the right.

10

Thank you.

11

OPERATOR: **And** will all counsel waive

12

any filing requirements of the videotape?

13

MR. MILLER: Waive what?

14

OPERATOR: The filing requirements for

15

the videotape?

16

MR. PIERSON: The filing requirements.

17

OPERATOR: There is a 24 hour in Summit

18

County.

19

MR. PIERSON: He said finding....filing.

20

MR. MILLER: Oh, the filing you said?

21

OPERATOR: Filing requirement. Sorry,

22

MR. MILLER: Oh. Yes, I don't object

23

to what hour it is. Charles, if we can

24

have a mutual agreement between counsel

25

to waive the filing of all transcripts

1

of any depositions, I will certainly
agree to this.

2

3

MR. PIERSON: That **is** agreeable to me.

4

5

MS. TAYLOR: I can't agree to anything
right now. I am not going to right now.

6

OPERATOR: We're **off** the record.

7

END OF THE TESTIMONY AS GIVEN BY DR. **CAROL** MILLER.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

STATE OF OHIO)

SUMMIT COUNTY) SS:

IN THE COURT OF COMMON PLEAS

JANETTE BRIDGE, ADMRX.,
PLAINTIFF.

VS .

AKRON GENERAL MEDICAL CENTER,
DEFENDANT.

) CASE NO. CV-82-7-2203
) VIDEOTAPE DEPOSITION
) OF
) ~~DR. CAROLE MILLER~~
) JUDGE MORGAN

wh.


I further certify that the testimony then given by her was transcribed to typewritten form and that the foregoing is a true and correct transcription of the testimony so given by her as aforesaid.

to
in
e
on

IN WITNESS WHEREOF, I have hereunto set my hand and affixed
my seal of office to attest these facts to be true at Kent, Ohio
on this 28 day of March, 1986.

My Commission Expires:

May 22, 1988


Jon Jastromb Notary Public
and Videotape Reporter