

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 SHERLEEN WYNN,)

4 Plaintiff,)

5 -vs-)

6 CARL A. ROBSON, M.D.,)

7 et al.,)

8 Defendants.)

JUDGE McMANAMON
CASE NO. 187066

DOC. 322

9 Deposition of HADLEY MORGENSTERN-CLARREN,
10 M.D., taken as if upon cross-examination before
11 Susan M. Cebren, a Registered Professional
12 Reporter and Notary Public within and for the
13 State of Ohio, at the University Suburban Health
14 Center, 1611 S. Green Road, South Euclid, Ohio,
15 at 3:30 p.m. on Monday, April 15, 1991, pursuant
16 to notice and/or stipulations of counsel, on
17 behalf of the Plaintiff in this cause.

18 - - - -

19 NEHLER & HAGESTROM

20 Court Reporters
21 1750 Midland Building
22 Cleveland, Ohio 44115
23 216.621.4984
24 FAX 621.0050
25 800.822.0650

APPEARANCES:

Christopher F. Mellino, Esq.
Charles I. Kampinski Co., L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiff;

Anthony P. Dapore, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of the Defendants
Carl A. Robson, M.D.
And L & D Family Practice;

William D. Bonezzi, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of the Defendant
Mt. Sinai Pathologists;

Charles W. Kitchen, Esq.
Kitchen, Messner & Deery
1100 Illuminating Building
Cleveland, Ohio 44113
(216) 241-5614,

On behalf of the Defendant
Mt. Sinai Medical Center.

- - - -

1 HADLEY MORGENSTERN-CLARREN, M.D., of
2 lawful age, called by the Plaintiff for the
3 purpose of cross-examination, as provided by the
4 Rules of Civil Procedure, being by me first duly
5 sworn, as hereinafter certified, deposed and
6 said as follows:

7 CROSS-EXAMINATION OF

8 HADLEY MORGENSTERN-CLARREN, M.D.

9 BY MR. MELLTNO:

10 Q. Would you state your full name, please?

11 A. My name is Dr. Hadley Morgenstern-Clarren, first
12 name H-A-D-L-E-Y, last name,
13 M-O-R-G-E-N-S-T-E-R-N hyphen capital
14 C-L-A-R-R-E-N, M.D.

15 Q. And where do you live, doctor?

16 A. My office address or my home address?

17 Q. Your home address.

18 A. My home address is 3009 Claremont,
19 C-L-A-R-E-M-0-N-T, Road, in Shaker Heights,
20 Ohio.

21 Q. Okay. Before we started you handed me a copy of
22 your CV. I haven't had a chance to look at it.
23 Why don't you just tell me what education and
24 training you've undergone?

25 A. I received my undergraduate degree, a

1 bachelor's, at Yale College of Yale University
2 in 1971, my BA.

3 I then went to medical school at the
4 University of Minnesota in Minneapolis,
5 Minnesota. Graduated and received my M.D.
6 degree in 1975.

7 I trained from 1975 until 1978 at the
8 University Hospitals of Cleveland where I did my
9 internship and residency in internal medicine.

10 From 1978 through 1979 I then served as an
11 additional year as registrar, a position
12 comparable to being a chief resident, at King
13 Edward VII Hospital in Windsor, England.

14 Upon completing that, I returned to
15 Cleveland and opened my practice here at the
16 University Suburban Health Center, and on the
17 faculty of Case Western Reserve University
18 School of Medicine, where I have been practicing
19 ever since 1979.

20 Q. Okay. The additional year of training you
21 underwent in England, was that in any particular
22 specialty?

23 A. That was in general internal medicine, although
24 it was also the original diabetic center. It
25 took care of the community of Windsor.

1 Q. Okay. And your practice now is basically a --
2 well, tell me what your practice now consists
3 of.

4 A. I have a practice in adult internal medicine,
5 general medicine, patients from their teens up
6 until their 90s. A considerable portion of it
7 is primary care and preventive care.

8 I also have a number of patients with
9 multisystem disease that are managed with
10 subspecialists and surgeons at University
11 Hospitals.

12 Q. Okay. What gynecological services do you
13 perform in your practice?

14 A. We do office gynecology in the sense that I will
15 do Pap smears and treat simple infections. We
16 do not do obstetrics, and complicated problems
17 are referred to gynecologists.

18 Q. what about colposcopy or biopsies or anything
19 like that?

20 A. I would not do procedures of that nature. Those
21 would be referred to gynecologists.

22 Q. So am I correct then that the only gynecological
23 services that you perform in your practice would
24 be Pap smears and the treatment of simple
25 gynecological infections?

1 A. That's exactly correct.

2 Q. Anything more complicated than that you would
3 refer to the gynecologist?

4 A. Yes. That's right.

5 Q. What did you review before you prepared your
6 report in this case?

7 A. The records which I reviewed included the office
8 records of Dr. Carl Robson, the office records
9 of Dr. Pamela Murphy, the office records of the
10 Euclid Clinic, the office records of Dr. Michael
11 MacFee, hospital records from University
12 Hospitals of Cleveland for outpatient visits,
13 and several admissions beginning in December of
14 1989 and going through approximately June of
15 1990.

16 The report which I prepared on January
17 29th, 1991 was based on these materials, but
18 since that time I have also had a chance to
19 review the deposition of Dr. Charles Engelberg.

20 Q. Okay. So the only thing you looked at since
21 your report is Dr. Engelberg's deposition?

22 A. Correct.

23 Q. And everything else you looked at you have
24 outlined that out in your January 29th report?

25 A. Yes.

1 Q. Is your January 29th report the only report that
2 you authored in this case?

3 A. Yes.

4 Q. Did you have any verbal communications with Mr.
5 Dapore prior to writing this report?

6 A. He gave me a telephone call in January of this
7 year to ask me if I would be willing to review
8 these materials and I said yes. So that kind of
9 a quick phone call, yes.

10 Q. Okay. Do you recall the date of that
11 conversation?

12 A. No. But it was sometime in January of this
13 year.

14 Q. In January of '91?

15 A. Correct.

16 Q. Okay. Have you been retained by Mr. Dapore
17 previously as an expert witness?

18 A. Not by Mr. Dapore, no.

19 Q. How about by any member of his firm?

20 A. Yes, I have reviewed a few other cases for the
21 firm.

22 Q. How many other cases?

23 A. I think three or four.

24 Q. And who did you -- who were you retained by?

25 A. Mr. Bonezzi on I think two or three, and I can't

1 remember off the top of my head, but I believe
2 another attorney in their group has also asked
3 me to review a case. I'm sorry, I don't
4 remember that person's name right now.

5 Q. Okay. For how long have you been consulting in
6 medical/legal cases?

7 A. I have consulted since I believe 1984.

8 Q. And do you have any idea how many cases you've
9 acted as an expert witness in?

10 A. I probably review about six to eight cases a
11 year.

12 Q. And do you have any idea of what the breakdown
13 is between plaintiffs and defendants?

14 A. About two-thirds of the cases that I have
15 reviewed have been for plaintiff, and about
16 one-third have been for the defendant.

17 Q. How many times have you been deposed?

18 A. I have probably had my deposition taken about 15
19 times.

20 Q. Okay. Have these all been as an expert witness?

21 A. Yes.

22 Q. Okay.

23 A. Well, not all. A few of the cases I reviewed,
24 and in addition to these have been when my own
25 patients have been injured and I have been asked

1 to have my deposition taken, you know, as the
2 primary treating doctor.

3 Q. Uh-huh.

4 A. And there was one case against another doctor at
5 University Hospital where my patient sued a
6 surgeon at the hospital and, again, I was not a
7 direct party in the suit, but I was the treating
8 physician and was subpoenaed to be involved, and
9 my deposition was taken for that, too.

10 So there have been a few additional cases
11 involving the care of my patients directly where
12 I was not an expert, but simply giving
13 information about the care of the patient.

14 Q. And you counted those in the 15 depositions?

15 A. No, I think those would be extra.

16 Q. Those are in addition to the 15?

17 A. Certainly.

18 Q. How many cases do you think that would be?

19 A. Probably half a dozen through the years.

20 Q. Do any of these other cases involve
21 gynecological care?

22 A. No. Not that I can remember immediately
23 offhand.

24 Q. Do any of them involve facts similar to this
25 case?

1 A. Involving Pap smears, no.

2 Q. Well, I mean, I didn't mean to limit my question
3 the way you did.

4 Can you think of any similarities between
5 any of these other cases and this case?

6 MR. DAPORE: Well, since this case
7 involves Pap smears and follow-up, I think
8 that pretty well covers the basic facts of
9 this case.

10 A. I certainly have not reviewed any other cases
11 that immediately seemed like this to me.

12 Q. Okay. Did you review any literature to prepare
13 your report or give opinions in this case?

14 A. I looked at my Harrison's, my Textbook of
15 Internal Medicine, but most of the information
16 was really based on my own understanding of how
17 we practice and the standard of care in the
18 community as I've known it for myself and for
19 the physicians that I have trained with and
20 worked with.

21 Q. Okay. When you do Pap smears, where do you send
22 your samples?

23 A. They are transported to the histopathology
24 laboratory at the University Hospitals of
25 Cleveland.

1 Q. When you do Pap smears, what's your practice
2 when you get an abnormal reading?

3 A. There are, of course, many different kinds of
4 gradations of abnormality.

5 Q. Yes.

6 A. So what we do depends on what the Pap smear says
7 specifically what the abnormality is.

8 For example, if it shows that there are
9 trichomonads, which is a very common thing to
10 find, the follow-up would need to be we treat
11 the patient's infection.

12 If it shows some kind of cancer, the
13 immediate follow-up would be to send the patient
14 on to see their gynecologist.

15 If we get a lower grade abnormality, the
16 general practice would be to repeat the test
17 after a specified period of time.

18 Q. What if the Pap -- what if the report you get
19 back on the Pap says abnormal cells, what would
20 you do in that instance?

21 A. Well, again, we would hopefully get better
22 information than that, because that's a whole
23 gamut of trivia to low grade inflammation and
24 infection all the way up to precancer and
25 cancer.

1 So, again, if those abnormal cells are
2 already cancerous or precancerous, at that point
3 the patient should go to the gynecologist
4 forthwith.

5 If it's a lower grade abnormality, again,
6 an infection should be treated or, again, if
7 there's some question of whether or not there's
8 a dysplasia, you would expect the Pap smear to
9 need to be repeated at a future date.

10 Q. Well, what if that's all the information you
11 have is abnormal cells, what would you do?

12 A. I have never received a Pap smear that said
13 that. That's not what I would expect from a
14 histopathology Laboratory.

15 Q. Well, I am asking you to assume that you did a
16 Pap smear and that was the report you got back.
17 What would you do?

18 A. I wouldn't know what to do because I wouldn't
19 know what that report would mean. I probably
20 would have to call the lab and say what is this.

21 Q. Okay.

22 A. It's not enough information to work from.

23 Q. Okay. When you do Pap smears, is it important
24 to correlate the pathology report with any
25 clinical findings you make?

I A. Of course.

2 Q. Okay. What would be the significance of a
3 clinical finding that the cervix is slightly
4 eroded and bleeding?

5 A. It would indicate some inflammation, possibly
6 some cervicitis, but, again, that could be for a
7 gamut of reasons, including irritation,
8 infection, and then on to the more serious
9 possibilities of those changes that lead into
10 precancer.

11 So, again, it's simply an abnormality with
12 several different possibilities as to its
13 meaning.

14 Q. All right.

15 A. The Pap smear would be one of the ways to help
16 sort out what it means.

17 Q. All right. So if you have those clinical
18 findings it would require some follow-up on your
19 part?

20 A. Yes.

21 Q. And what if you did a Pap smear and that wasn't
22 helpful in determining the cause of the
23 problem? Would that then require further
24 follow-up?

25 A. Well, certainly you would take another check at

1 a future time, but the Pap would be helpful, if
2 it doesn't show evidence of cancer, that's
3 helpful, and then you would check again later.

4 Q. Well, what if the Pap says abnormal cells? I
5 think you said before that it could mean cancer,
6 it could just mean infection.

7 A. Well, again, I'm also stating that that's not
8 what I expect from a histopathology lab, I have
9 never gotten that has a report, and I don't
10 think I would stop at that point until I got
11 additional information from the pathologist.

12 I don't think you would stop at that point
13 if that's all they tell you.

14 Q. Okay. At what point would you refer a patient
15 to a gynecologist?

16 A. There would be many ways in which I would refer
17 the patient on to a gynecologist. I would refer
18 the patient on if there were still some
19 abnormalities that I was worried about, if the
20 patient was having prolonged pain or changes in
21 the menstrual periods or persistent bleeding.

22 If I had two Pap smears in a row that
23 showed dysplasia or a Pap smear that showed
24 something in the way of carcinoma in situ or
25 frank cancer I would refer the patient on

1 immediately without a follow-up.

2 So I can think of several situations where
3 I might refer the patient on.

4 Q. If you had a Pap smear that showed dysplasia,
5 how soon would you repeat it?

6 A. The usual standard of care would be in about
7 three months,

8 Q. If you had clinical findings of an abnormal
9 cervix and a Pap smear that read as dysplasia,
10 what would you do?

11 MR. DAPORE: What do you mean by
12 an abnormal cervix?

13 Q. Findings of slightly eroded cervix that was
14 bleeding.

15 A. In addition to the Pap smear I probably would
16 have also taken cultures, and if I found an
17 infection I would treat it.

18 when I did the follow-up Pap smear I would
19 also be doing another visual inspection of the
20 cervix to see if it had healed, which it most
21 often will do.

22 Q. Okay. In Dr. Robson's records there's a note of
23 a visit in July, July 18th of 1985 I think the
24 date is.

25 A. Yes, I have that.

1 Q. Okay. And on that visit Dr. Robson noted that
2 there was an abnormal cervix; is that true?

3 A. Yes. He stated that there was slight erosion,
4 which bled apparently upon examination of the
5 cervix, and he put down in his assessment that
6 he thought it was a mild vaginitis.

7 Q. Did he do a Pap smear?

8 A. My records do not show a formal Pap smear report
9 for that date, but on a flow sheet, which is
10 included in Dr. Robson's chart, it is dated for
11 July 18th, 1985 that he did a Pap smear and he
12 describes his findings.

13 Q. Based on his findings, I'm talking about
14 clinical findings on that day, does the standard
15 of care require that he do a Pap smear?

16 A. Yes.

17 Q. Okay. And why don't you read what his findings
18 were?

19 MR. DAPORE: What the Pap smear
20 results were?

21 MR. MELLINO: Yes. Yes.

22 A. It is essentially read as neg, which I assume
23 means negative for cancerous cells.

24 Q. Well, it just says N-E-G there, right?

25 A. That's what that would imply. It then says

1 profuse bacteria, inflammation and inflammatory
2 cell changes.

3 Q. Okay. And what does inflammatory cell changes
4 mean?

5 A. Exactly that. Inflammation is simply an
6 irritation, a cell response which can be to an
7 infective agent or to some kind of irritation.

8 There are other mechanical irritations that
9 could also cause this, but in this instance he
10 is at least suggesting since there's profuse
11 bacteria that he thought there might be some
12 kind of superficial infection.

13 He did take a culture under GC of that same
14 date, the end would imply that he did a culture
15 for gonococcus, that was negative, which would
16 have been the organism he was most worried
17 about, and he appropriately tested for it and
18 found that there were none.

19 Q. Okay. So what would be, the cause of the
20 inflammation to be then?

21 A. In this instance, in view of the fact that he
22 saw a lot of bacteria, he probably would assume
23 that it was another low grade infection, and
24 there are many that could do it, but fortunately
25 not gonococcus, which is the most dangerous

1 since it causes the most long-term complications
2 and can lead to infertility as well as spread to
3 other people.


4 In this instance I suppose anaerobic
5 bacteria or Chlamydia would have been
6 possibilities.

7 Q. And did Dr. Robson treat that?

8 A. He gave the patient Bacitracin ointment, which
9 would be a surface acting antibiotic, and also
10 had the patient use hydrogen peroxide to clean
11 the area, and then the important point in this
12 would be follow-up to just make sure it all went
13 away, and he put down follow-up p.r.n.

14 So there would be some need for the patient
15 to report symptoms back or for him to reexamine
16 her in the future.

17 Q. Well, when is the next time he did an
18 examination of her?

19 A. By the way, I'm not sure that that was Dr.
20 Robson. The signature on that note is in my
21 chart someone named Reynolds, but it isn't in
22 the same chart as Dr. Robson's notes.  15

23 Q. Yes.

24 A. So I'm not sure that that's Dr. Robson who did
25 that, but someone else at Hough Norwood.

1 Q. Well, is that significant to you?

2 A. No. It's a group practice.

3 Q. Pardon?

4 A. It's a group practice.

5 Q. Right. I mean, there is no doubt in your mind
6 that Sherleen Wynn was Dr. Robson's patient?

7 A. We're simply talking about the correct treatment
8 by Dr. Robson, If Dr. Robson wasn't the
9 treating doctor, I just want to make that clear
10 that we are probably talking about someone else
11 on that date.

12 Q. Well, do you feel that she was given appropriate
13 treatment on that date?

14 A. There are any number of treatments that probably
15 would have been appropriate. That would be
16 okay.

17 Q. Well, is there some treatment that would have
18 been better?

19 A. No. I think almost anything would have worked.
20 It was at this point very mild and, you know,
21 any kind of just keeping the area clean and
22 giving it time probably was going to work.

23 Q. Given those findings on that day then, wouldn't
24 Dr. Robson be required to follow the patient
25 until her cervix was normal?

1 A. When the patient would then come back for
2 routine follow-up, which you would hope would be
3 annual, that would be the important follow-up
4 for this kind of a problem which is considered
5 minor.

6 Other than that, it would be the patient's
7 own return if she had symptoms or problems that
8 persisted. This is not a dangerous change, and
9 it would be something analogous to a rash on
10 your arm. If it doesn't go away and the patient
11 is still bothered by it they come back, but the
12 doctor doesn't have to make a special point to
13 say come back and let me see your rash again in
14 two weeks. It's considered in the minor
15 category.

16 Q. But he still would be required to follow it
17 until the cervix was normal?

18 A. I don't know what you mean by "required". He
19 would be available to continue to treat if it
20 didn't go away or came back.

21 To a certain extent the patient also does
22 assume some obligation to come back if they
23 still have a problem. We don't police our
24 patients.

25 Q. Well, when the patient came back did she have a

1 normal cervix?

2 MR. DAPORE: When are you talking
3 about? She came back many times. Do you
4 have a specific date?

5 A. I can't read the date of the next time the
6 patient came back. Can you help me on that?
7 Mine is sort of crossed off. It says 7th of
8 some month in 1985.

9 Q. Mine is cut off, too.

10 A. So I don't know exactly when, something 17, and
11 according to my chart there is not a description
12 of the cervix on that date. The patient was
13 coming mainly with the complaint of lumps on her
14 thighs, and then again there is other things
15 that came up, and the patient was late for her
16 physical and canceled or didn't show up for some
17 other appointments.

18 So I assume the next time that the patient
19 for sure would have had a proper pelvic and Pap
20 exam would have been on the visit when she had
21 been scheduled for her physical examination in
22 1986.

23 She had been scheduled for November 15,
24 1986, and she came in late, and Dr. Robson
25 apparently did a cursory exam rather than a full

1 physical examination, and I don't have any
2 evidence of the cervix was examined or that a
3 Pap was done.

4 Q. Okay. Between July of '85 and November of '86
5 how many times was she seen by Dr. Robson?

6 A. Between the visit we already described when she
7 was found to have vaginitis and the date of the
8 cursory physical at the end or the middle of
9 November of '86, there are indications of two
10 office visits in between, as far as I can tell.

11 Q. Two?

12 A. As far as I can tell, there is the one visit
13 that we just discussed on the 17th of some
14 unspecified month in 1985, and an additional
15 visit on July 26th, 1986.

16 Q. What about April 19th of 1986?

17 A. Well, actually, I didn't know that that was an
18 office visit. It looks like -- let's see.
19 There is an urinalysis result, and then -- I
20 have trouble reading it. Certainly she was
21 treated for something, but I don't really see
22 evidence of examination other than that her
23 weight was taken. I don't know.

24 We have patients, for example, who come to
25 my office just to drop off their urine and see

1 if it is clear or to see if the urine needs to
2 be sent for culture, and they will do that
3 without it being a full office visit or without
4 me examining them, which we do as a service like
5 a throat culture.

6 So I really don't know how much of a visit
7 she had on that date and how much just wasn't
8 documented in the note.

9 Q. All right. Well, we know she was seen in the
10 office, though.

11 A. Correct.

12 Q. And wouldn't the standard of care require that
13 Dr. Robson follow up on the findings of the
14 abnormal cervix on July 18th, 1985, to follow-up
15 on that to make sure that the cervix had
16 returned to normal?

17 A. As I mentioned, and my answer is the same as
18 what I have already said, the follow-up would be
19 in terms of routine continuous annual Pap
20 smears. I don't think a special visit needed to
21 be performed to look at her cervix again, but
22 the patient could have come back if her symptoms
23 required her to schedule it, and that was open
24 to her.

25 Q. Well, I'm not talking about a special visit.

1 I'm talking about the other times when she was
2 in the office.

3 A. Well, when people are giving an office visit to
4 a patient, a certain amount of time is
5 scheduled, and at times when people are coming
6 in for full physical or specifically for
7 preventive care, that's when time enough when
8 procedures like Pap smears are scheduled in.

9 When the patient is coming in for a
10 specific acute problem, and a short visit of
11 usually 15 minutes has been scheduled, there is
12 not time to do a Pap smear and it would not be
13 considered the usual standard of care to do one,
14 you know, in 30 seconds while you are doing
15 something else.

16 Pap smears are usually done at times of
17 visits that are specifically set up for
18 preventive care.

19 Q. How long does it take to do a Pap smear?

20 A. Well, to do a full pelvic exam and a Pap smear
21 and get the patient ready, you usually should
22 schedule about 10 minutes.

23 Q. Okay. And in 10 minutes you can do a full
24 pelvic exam, look at the cervix and do the Pap
25 smear?

1 A. Sure.

2 Q. Okay. And Dr. Robson didn't do either one,
3 either look at the cervix or a Pap smear on any
4 of the visits, let's just take through November
5 of '86, isn't that true?

6 A. That's correct, for reasons I have already
7 stated.

8 Q. Well, the reason is what, he didn't have time?

9 MR. DAPORE: That's not what he
10 said.

11 A. That's not what I said at all. What I said is
12 she wasn't coming in for preventive physical,
13 and when she was scheduled in for preventive
14 physical she came late. So, again, the time
15 that had been allotted for it was lost and it
16 really wasn't his fault.

17 Q. I see. Well, how long was she there on the
18 15th?

19 A. I don't know, but they make a specific point of
20 it being late, and I assume that he was nice
21 enough not to just tell her to come back another
22 day, but tried to do as much as he could in what
23 time was left.

24 Q. How long was she there on September 26th?

25 A. September 26th of what year?

1 Q. I'm sorry. July 26th of 1986.

2 A. I don't know.

3 Q. I see. And you're not even sure if April 19th
4 was an office visit?

5 A. Correct. That's exactly what I'm saying.

6 Q. Would you agree that she was in the office that
7 day?

8 A. Yes.

9 Q. Okay. And you don't know how long she was in
10 the office on that visit in '85 that we can't
11 read the date on.

12 A. Correct.

13 Q. Did the standard of care require a follow-up in
14 one year on the abnormal findings from July of
15 '85?

16 A. The standard of care would not have been based
17 on that episode of vaginitis, which again, as I
18 stated, did not require specific follow-up. The
19 specific standard of care for a woman of this
20 age would be for a pelvic exam with a Pap smear
21 once per year, just based on her being a woman
22 and needing normal preventive care, but, again,
23 having no bearing on what happened on that
24 office visit in 1985.

25 Q. Okay. And **Dr. Robson** never did a Pap smear in

1 1986, did he?

2 A. One was not done.

3 Q. And it's your testimony that that's her fault
4 because she was late for the physical exam in
5 November of 1986?

6 A. I'm stating that when people cancel or don't
7 show or come late --

8 Q. Well, she didn't cancel or no show on November
9 15, 1986, did she?

10 **MR. DAPORE:** Well, we haven't gone
11 to those dates that she did cancel.

12 A. She did cancel on August 25th, she did cancel on
13 September 13th, she did not show on November
14 29th.

15 Q. Yes.

16 A. And she did not show on an additional date, let
17 me see if I can find the date on the next time,
18 because, again, my chart seems to cut off the
19 date.

20 On November 29th. So on a number of
21 occasions, no, it's hard to blame the doctor
22 when the patient isn't there and, in fact, the
23 doctor is left just sitting there in the chair
24 with time which has been scheduled for the
25 patient and the patient is not there. It is

1 hardly the doctor's fault.

2 Q. What about when the patient was there?

3 A. I don't know exactly how much time was given,
4 but it's clear that a point was made stating she
5 was late and that a full physical was not given
6 on November 15th, and it's also clear that a
7 couple of additional times where opportunities
8 were given for the patient to come back for that
9 exam to be completed -- actually just once,
10 November 29th, it is not two separate visits,
11 just on the bottom of one page and the top of
12 the next.

13 So he made an effort to get the patient
14 back in a very short period of time to come back
15 for the rest of the exam, which would have
16 included the Pap, and, again, the patient didn't
17 come.

18 Q. Well, where do you see in these notes that that
19 would have included a Pap?

20 A. Well, that's the definition of a physical.

21 Q. Well, he did a physical on the 15th, didn't he?

22 A. We already discussed that. No, he did not.

23 Q. Well, what did he do?

24 A. It says patient came in late and it looks like
25 he decided to see her briefly. It looks like

1 the patient's weight was taken, blood pressure
2 was taken, there was a brief discussion about
3 headaches and some skin lesions on her ankles,
4 and he cleaned out her ear. I don't think that
5 that would be anything close to a full physical.

6 And it's clear, again, by the juxtaposition
7 with what is stated to be a physical two weeks
8 later that he was trying to get her back so he
9 could do it properly,

10 Q. All right. What's the next time she was seen in
11 the office?

12 A. The next visit is then in 1987, and I believe
13 the date is January 10th of 1987. This was an
14 office visit for a respiratory infection.

15 Q. And so he wouldn't have time to do a Pap smear
16 and pelvic exam then, would he?

17 A. No.

18 Q. And that's why it wasn't done then?

19 A. It would not even have been attempted. That
20 would not have been a visit for preventive
21 care. That would have been an acute care visit
22 which was given.

23 Q. How about on April 4th of '87, could he have
24 done a vaginal exam and a Pap smear on that
25 visit?

1 A. I don't know if that's a visit. All I see is
2 that a medicine was prescribed.

3 Q. Okay. What about on April 6th, is that a visit?

4 A. I'm not sure. She was weighed. But I'm not
5 sure how much of a visit it was. Certainly he
6 talked to the patient and thought that she had a
7 gastroenteritis, a viral infection of the
8 gastrointestinal track.

9 Q. Okay. She was seen again on April 18th of '87?

10 A. That's correct, and that is listed as a
11 physical. So that again would be the
12 opportunity to do preventive care such as a Pap,
13 and a Pap was obtained.

14 Q. How long was she in the office on that date?

15 A. Not documented.

16 Q. Okay. And what were the pelvic findings?

17 A. You may be able to read this better than I can,
18 but I don't see a specific description of the
19 cervix. I see it says Pap done.

20 Q. So he didn't even look at the cervix that day?

21 A. I'm sure he did. I don't think he wrote down
22 everything he saw. I think that's what we can
23 tell so far by his notes.

24 Q. There's nothing noted about him examining the
25 cervix, is there?

1 A. Well, he had to have at least examined the
2 pelvis to have done the Pap smear, but he does
3 not note what his visual findings were.

4 Q. And the Pap smear came back abnormal?

5 A. That's correct.

6 Q. Now, given the fact that this Pap showed
7 dysplasia, does that give any importance to the
8 findings on July 18th of '85?

9 A. Well, the important finding is that the Pap
10 smear of 1985 did not show dysplasia. So you
11 would consider this a new finding.

12 Q. So you would just ignore the findings of July
13 18th of '85?

14 A. I don't think you would ignore them. I think
15 you would go back and look at them and say well,
16 we didn't see dysplasia then, but we are seeing
17 dysplasia now.

18 Q. He saw an abnormal cervix then.

19 A. Yes.

20 Q. Okay. And did he ever see a normal cervix
21 between then and -- between July of '85 and
22 April of '87?

23 A. I can't tell if he did from these notes, and I
24 don't remember reading that in his deposition.
25 I'm not sure,

1 Q. Well, wouldn't that be important to document if
2 he did?

3 A. In terms of dysplasia, we're --

4 Q. well, I am not talking about dysplasia. I'm
5 talking about his clinical findings now.

6 A. Excuse me. I am going to answer the question
7 and I'll be happy to respond.

8 | Q. Okay.

9 A. In terms of dysplasia, you wouldn't necessarily
10 be able to make a diagnosis from the
11 appearance. That's the whole idea of trying to
12 do a cellular diagnosis, is to pick things up
13 before there's necessarily any kind of deep
14 lesions. What you see would really be really
15 nonspecific and misleading in directions of
16 being either more or less serious than what the
17 cell findings truly would show.

18 The idea of the Pap smear is to truly see
19 what's in the cells, what they really have
20 inside of them. So that's the reason we do
21 them.

22 Q. Yes. I thought you told me before that it's
23 important to correlate your clinical findings
24 with the Pap smear findings?

25 | A. Well, of course it is, in the same way that we

1 do physical examinations because we're looking
2 for what we can see.

3 But in terms of your question about the
4 meaning of the Pap going back to 1985, I would
5 consider it only important as far as past
6 records on the patient, but I would not consider
7 the fact that there was some cervical irritation
8 to necessarily imply that there was dysplasia at
9 that time, and, in fact, having a Pap smear at
10 that time that did not show any dysplasia, I
11 would think that there was not dysplasia in
12 1985.

13 Q. Well, given the fact that you had those prior
14 records, that prior history, and that you now
15 have dysplasia, would that -- what would be your
16 course of treatment then?

17 A. Well, the important thing would be to repeat the
18 Pap smear after a period of about three months
19 to find out if it was a dysplasia that was going
20 to persist or progress or if, in fact, it was
21 simply going to decrease or go away, which
22 happens most of the time.

23 Q. Did Dr. Robson treat the problem of July 18th,
24 1985, whatever it was, treat it successfully?

25 A. He thought that he saw Monilia, according to a

1 wet prep, and I believe this is Monostat cream
2 given, which would be a treatment for a
3 superficial fungal infection.

4 Q. Where are you reading from?

5 A. It's in the note of April 18th, 1987.

6 Q. Okay.

7 A. Where it says vag inch, wet positive Monilia,
8 RX, I believe that says Monostat cream, and then
9 Pap done.

10 Q. Yes.

11 A. So if that's what he assumed would be the source
12 of infection, that ought to be the correct
13 treatment theoretically, and then he correctly
14 stated he wanted to have the patient come back
15 for repeat Pap, and he wrote here on the note to
16 come back in three months.

17 And then when the three months came around
18 on July 24th, the patient was supposed to come
19 back but didn't come.

20 Q. I'm sorry, where did you see come back in three
21 months?

22 A. It's the next note after the physical, it says
23 4/87, second line from the bottom, it says
24 abnormal Pap, repeat three months.

25 Q. Yes.

1 A. So that's his plan.

2 Q. Right.

3 A. Okay.

4 Q. That doesn't; say he told the patient to come
5 back in three months, does it?

6 A. It doesn't tell us if he told the patient. It
7 certainly states that that was his intention, to
8 have the patient come back. I am not sure what
9 communication occurred with the patient.

10 Q. Okay.

11 A. Then on July 24, 1987 is when this visit was
12 scheduled. So he must have told the patient to
13 come back, but it says patient did not show for
14 appointment for repeat Pap.

15 On July 25th, and it's not at all clear
16 that the patient was seen, but some more
17 Monostat cream was prescribed for the patient,
18 and presumably that meant the patient was still
19 having I would assume some itching.

20 And then on August 8th the patient canceled
21 and didn't come back, and then on August 22nd
22 she came back, and the specific reason for the
23 visit and it states in the beginning of this
24 note, here for follow-up of cervical dysplasia.

25 So this was set up as a specific visit and

1 follow-up to that abnormal Pap smear.

2 Q. Okay. And I think you put in your report that
3 it's not significant to you that the repeat Pap
4 was done in August as opposed to July?

5 A. No, it isn't, but it would have been done in
6 July if the patient had come. I don't think a
7 difference of a few weeks would matter.

8 Q. Okay. Did the problem that Sherleen had on July
9 18th of 1985 that was causing the eroded cervix
10 and the bleeding, was that treated? Treated to
11 a resolution, I mean?

12 A. I am not sure I see anything on the April 18th
13 visit that says eroded cervix with bleeding.

14 Q. Not April. July 18th of '85 I'm talking about.

15 A. Well, that would have been gone. That was over
16 two years before. Specifically the implication
17 of the April 18th visit is that I would think by
18 deduction they didn't necessarily see that.
19 There is no documentation that it had the same
20 appearance that they saw in 1985, and normally a
21 mild vaginitis in 1985 would have been gone way,
22 way long ago.

23 Q. Well, there is no indication at all of what he
24 saw on April 18th, is there?

25 A. He doesn't document it.

1 Q. In fact, between July 18th of 1985 and April
2 18th of 1987 there is no documentation in this
3 chart that her cervix is normal, is there?

4 MR. DAPORE: There is no
5 documentation that it is abnormal, either.

6 A. I was going to say that. It is simply not
7 documented.

8 Q. Well, we know it was abnormal on July 18th. It
9 is documented there.

10 A. July 18th --

11 Q. Of 1985.

12 A. Right, we know that much.

13 Q. And we know --

14 A. I don't think you can make a loop that it stayed
15 persistently abnormal all the way through after
16 that. I just don't think we can tell from those
17 records.

18 Q. Well, don't we know that it was abnormal on
19 April 18th, 1987? She had dysplasia on the Pap
20 smear.

21 A. We know that she had dysplasia on the Pap smear,
22 but we don't really have a description of the
23 cervix.

24 Q. So it's okay for us to make the leap and assume
25 that he didn't have enough time to do a Pap

1 smear on November of '86, but we can't make the
2 leap that her cervix was never normal because he
3 never documented it as normal?

4 A. I'm **sorry**, could you --

5 MR. DAPORE: That's
6 argumentative. Don't answer the question.

7 Q. If you have two abnormal Pap smears, two
8 consecutive abnormal Pap smears, would the
9 standard of care require that a family
10 practitioner refer the patient to a
11 gynecologist?

12 MR. DAPORE: What degree of
13 abnormality are you speaking of? There are
14 multiple degrees.

15 A. Are you going to rephrase the question **or** do you
16 want me to answer?

17 Q. Unless you want me to and then I will, but I am
18 not going to respond to any of Mr. Dapore's
19 questions.

20 MR. DAPORE: That wasn't a
21 question.

22 A. I think I can answer.

23 Q. Okay.

24 A. I think that the original Pap you're referring
25 to is as the original Pap is in this particular

1 case, which is different than just saying not
2 specifically abnormal, if you are saying
3 dysplasia was found once, the standard of care
4 is to look for dysplasia on the second occasion,
5 and if you do find dysplasia twice, yes, the
6 patient should be referred to a gynecologist.

7 If there are minor inflammatory or
8 infectious changes short of dysplasia, that
9 doesn't necessarily require the same follow-up
10 in three months that we've talked about for
11 dysplasia, and the persistence of off again/on
12 again nature for these changes, which are very
13 common in young women, do not necessarily imply
14 that the patient has to get referred on to a
15 gynecologist.

16 And if the first Pap smear did show
17 dysplasia, but the second one didn't, it doesn't
18 have to read a perfect normal, I would think
19 that there is a judgment area over which doctors
20 have a real range of independent action over
21 where they are comfortable in following and
22 where they are comfortable in referring the
23 patient on to a gynecologist in a shorter time.

24 If it clearly was dysplasia at the
25 beginning and dysplasia three months later,

1 that's straightforward, the patient should be
2 seen by an gynecologist.

3 If it is dysplasia and three months later
4 it is perfectly normal, that is straightforward
5 the patient is fine.

6 There's an area in between where there is a
7 matter of judgment up to the individual
8 physician, and it's left to them because what
9 happens to the patient, there's a fail-safe
10 built into the system, if you will continue to
11 work with the patient and do surveillance and
12 Pap smears over time, then if something is going
13 on it will show up over time.

14 So it's a little bit up to the nature of
15 the doctor, what they are comfortable with,
16 whether the patient goes on now or you wait for
17 subsequent abnormalities later.

18 But there's a range of judgment here, which
19 is acceptable in clinical care, and you will
20 find people who will disagree within that range,
21 but as long as they are continuing to follow the
22 patient they will all be right. As long as the
23 patient is not lost in the system the
24 abnormality will be found, if it's significant,
25 because the definition of a cancerous change is

1 that it persists and progresses.

2 Q. Well, her Pap smears in this case showed
3 progression, didn't they?

4 A. No, it did not. In fact, that's the point I
5 have made in my report.

6 Q. Well, wait a minute. Between July of '85 and
7 April of '87 did it show progression?

8 A. No, I don't think you can read that as showing
9 progression. There is enough of a gap
10 in-between that I think you have to take those
11 at distant points in time.

12 I think the discussion has to begin with
13 the Pap **smear** with dysplasia. I think that's
14 really where you have to begin the discussion.
15 It's the only place we have a firm abnormality,
16 and I think you have to start there.

17 Q. Well, she had an abnormality in July of '85, she
18 had an abnormal cervix and she had abnormal
19 cells in the Pap result, didn't she?

20 A. As I mentioned to you, there was some dysplasia
21 syndrome.

22 Q. So if the next Pap smear shows dysplasia, isn't
23 that a progression?

24 A. **No.** We are talking about the first Pap smear
25 showing dysplasia and then the follow-up Pap

("No" per change?)

1 smear three months after that as being the
2 critical sequence.

3 Q. Well, that's what you're talking about, but
4 that's not what I'm talking about.

5 MR. DAPORE: Well, he's giving you
6 his interpretation and his opinion on that,
7 and that's what he is going to testify to.
8 If you don't like it, that's tough.

9 MR. MELLINO: It's not a question
10 of liking it or not, all right?

11 Are you done testifying or do you want
12 him to answer?

13 MR. DAPORE: I am not testifying
14 about anything. I am just telling you.

15 MR. MELLINO: Yes, you are.

16 A. I have tried to answer your question. I think I
17 have.

18 Q. Well, see, I don't think you have. That's why I
19 was going over it again.

20 I want you to focus on the two Pap smears
21 between the July of '85 one and the April of '87
22 one.

23 A. I can't interpret that as a progression.

24 Q. Well, isn't abnormal or let me use the term that
25 Dr. Robson did, inflammatory cell changes I

1 think it is, isn't going from there to dysplasia
2 a progression?

3 A. No. As I have actually tried to explain, many
4 of the inflammatory infectious changes are quite
5 trivial, and they certainly are not on a
6 continuum leading up to cancer.

7 Q. What if you have a patient that you -- that's
8 come into your practice with a prior history of
9 Pap smear that showed abnormal cells and that's
10 all you knew, and you did a Pap smear and it
11 read dysplasia, what would you do with that
12 patient?

13 A. The first thing I would do is get ahold of the
14 past records and then, again, if I wasn't sure
15 what that meant by abnormal cells I would get in
16 touch with the laboratory to find out if they
17 meant dysplasia, and then if, again, I had two
18 Pap smears showing dysplasia I would go back to
19 the general rule, which we've already discussed,
20 the patient then should be seen by a
21 gynecologist.

22 Q. Okay. That's standard of care?

23 A. Yes.

24 Q. Okay. Did you get ahold **of** the Pap smear report
25 from '85 in this case?

1 A. No.

2 Q. Do you think it would be important to know what
3 that report said?

4 MR. DAPORE: Well, for the record,
5 what is written in the chart on the lab
6 flow sheet is what the report stated,
7 that's been the testimony of Dr. Robson,
8 and it is written down by his nurse word
9 for word as it comes off the Pap smear
10 report.

11 A, I would certainly think that if there is any
12 other additional information that wasn't
13 supplied to me I would be happy to see it and,
14 of course, the more information you have on the
15 Pap it would be useful.

16 The conclusions that I reached are based on
17 the Pap report which is listed on the flow
18 sheet.

19 Q. Would it make any difference to you if the time
20 between the readings of inflammatory cell
21 changes and dysplasia were three months apart as
22 opposed to 23 months in this case?

23 A. Not necessarily, because, again, they are almost
24 in two different spectra of abnormalities. One
25 would be like an infection you didn't

1 eradicate. The other is where you're talking
2 about cell changes that are going to lead
3 possibly into cancer. They are really separate
4 spectra.

5 So it wouldn't necessarily be more helpful
6 to me than having them as far apart as we found
7 in this case.

8 Q. Doesn't cancer start out as an inflammatory
9 process, cervical cancer?

10 A. Not always. And certainly there's concern that
11 some viruses eventually lead as a trigger into
12 cancer.

13 Other things can also lead to triggers into
14 cancer, but that's not a continuous persistent
15 state of infection. That's an intracellular
16 trigger that is working on the DNA within the
17 cells over a period of many years, and you
18 probably would not be able to see it from the
19 outside.

20 Q. Well, what if you had a Pap that showed
21 inflammatory cell changes?

22 A. We've talked about that several times. Those
23 are, again, are considered ^{is not per change} dysplasia, for
24 example. Those are simply what they mean, they
25 are inflammatory.

1 Q. Well, could they be predysplasia?

2 A. I would think the first changes of dysplasia are
3 dysplasia.

4 Q. What's atypia?

5 A. That's a good question. Atypia is a term that
6 simply, I would think, defines some nonspecific
7 abnormality. It's hard to know exactly what
8 that does mean.

9 Q. Okay. So it's your testimony, if I understand
10 it, that in terms of diagnosing cervical cancer,
11 the only Pap findings that are important are
12 dysplasia or greater -- in other words -- well,
13 dysplasia, carcinoma in situ and invasive
14 cancer?

15 A. Yes,

16 Q. But any finding of cell changes less than
17 dysplasia aren't important to you in terms of
18 diagnosing cervical cancer or cervical cancer in
19 its precancerous state?

20 A. I would say they could represent a problem in
21 their own right, but that's right, they would
22 not help me lead to a diagnosis of cancer of the
23 cervix.

24 Q. And if you were treating a patient you wouldn't
25 correlate those findings in order to or they

1 wouldn't affect your treatment of the patient if
2 you had those findings in conjunction with the
3 finding of dysplasia?

4 A. I want to make sure I understand your question.

5 Q. Okay.

6 A. You're asking if I found dysplasia and cervical
7 erosions and bleeding?

8 Q. **No.** Let me rephrase the question.

9 A. Okay. Fine.

10 Q. It wasn't asked very well.

11 You would treat any findings less than
12 dysplasia, such as inflammatory cell changes or
13 atypia or something of that nature, as a
14 separate entity from dysplasia and other
15 findings that -- that's not a very good way to
16 ask it either.

17 Let's just deal specifically with the
18 finding of inflammatory cell changes. You treat
19 that as a separate entity from dysplasia, would
20 that be fair?

21 A. I believe that would be fair.

22 Q. Okay. Would the same be true of a finding of
23 atypia?

24 A. Atypia is hard because it's not precise. The
25 most important point in atypia to me would be

1 that it wouldn't seem to meet the criteria for
2 dysplasia. I would think if a pathologist saw
3 dysplasia they would call it and say dysplasia.

4 So I would think what they're saying **is** it
5 is not completely normal, but it's not
6 dysplasia, and so it's in some kind of
7 nonspecific low grade area.

8 Q. So anything that doesn't meet the criteria of
9 dysplasia you would treat as a separate disease
10 entity?

11 A. I'm not a pathologist, but as a treating
12 physician receiving reports, that's how I would
13 have to use the information given. If I am told
14 dysplasia I know what to do, and until that
15 point I would believe that I am dealing with
16 innocent phenomena.

17 Q. Even if you had a subsequent Pap that showed
18 dysplasia?

19 A. The first Pap of concern would be the one with
20 the dysplasia.

21 Q. And the fact that you had a previous Pap that
22 showed some kind of abnormality less than
23 dysplasia wouldn't raise any red flags to you?

24 A. None.

25 MR. DAPORE: How many more times

1 are you going to ask him that question,
2 Chris?

3 A. It would not raise any red flags for me.

4 MR. MELLINO: Since he answered it
5 that time that would be the last time I ask
6 it.

7 Is there some limit on how many times
8 I can ask a question?

9 MR. DAPORE: Well, he has given
10 you that answer four times now.

11 MR. MELLINO: Well, I guess I am
12 stupid. It took me that long to understand
13 the answer.

14 MR. DAPORE: I would not have said
15 that, but --

16 MR. MELLINO: Well, you probably
17 would have,

18 How many more times are you going to
19 interrupt my deposition?

20 MR. BONEZZI: Come on, let's
21 continue. You were 35 minutes late.

22 MR. MELLINO: So?

23 MR. BONEZZI: I don't want to be
24 here until 7:00.

25 MR. MELLINO: That is not my

1 purpose, but I don't appreciate being
2 interrupted.

3 MR. BONEZZI: I am not finished,
4 don't interrupt me. I would appreciate if
5 we could continue on with the deposition
6 without the interplay that is taking
7 place. We don't need that. Go ahead and
8 get the responses.

9 MR. MELLINO: You're right, I
10 don't.

11 Q. And do abnormal clinical cervical findings have
12 any significance if they are found in
13 conjunction with a Pap smear that's less than
14 dysplasia?

15 A. Not necessarily, because they could be
16 associated with, again, nonprecancerous
17 conditions. So their importance would only be
18 insofar as their relationship to some other
19 inflammatory infectious process that would need
20 treatment, but again, it wouldn't necessarily
21 mean that you are dealing with precancerous
22 changes.

23 Q. Okay. Could it, though?

24 A. You couldn't rule it out bsolutely, but you
25 would have no information to think that that's

1 where you were headed.

2 Q. What if you had abnormal -- a finding of an
3 abnormal cervix, and then which never returned
4 to normal, and then later on you take a Pap
5 smear again and you have a finding of dysplasia?

6 A. That's an interesting question. If you did have
7 multiple views of a physical appearance that
8 didn't change and then a series of Pap smears,
9 and I would think you would need more than a
10 point 1985 and a point of 1987, you would need
11 serial versions, sure, I would think that a
12 continuous unchanging physical appearance that
13 then takes on a Pap smear of precancer, then you
14 would have to say the Pap smear may have given
15 me false information and may have been what they
16 call a false negative. It was read as not
17 showing cancerous changes when, in fact,
18 cancerous things were evolving, but that, of
19 course, is the limit of this kind of a screening
20 test. We do the best we can with it, but it's
21 not perfect.

22 Q. Okay. So at that point **let's** assume that you
23 did have this abnormal cervix that was
24 unchanged, and then a Pap smear that read
25 dysplasia. What would you do then? What would

1 be the standard of care as far as treating this
2 patient?

3 A. If, in fact, you could document that, I think
4 you would have that concern, again,, that the
5 dysplasia may have been there longer than on
6 that first day. But I think your management
7 would still be the same of repeating the Pap
8 smear after a short period of time and seeing
9 if, in fact, you are seeing persistent
10 dysplasia.

11 Again, one Pap smear showing dysplasia
12 still doesn't prove any precancer, and much of
13 the time the follow-up, in fact, will show that
14 those changes disappeared.

15 Q. All right. So the standard of care would not
16 require referral to a gynecologist then until a
17 second Pap, even with an abnormal cervix?

18 A. Again, as I stated, at that point I would think
19 the standard of care would be -- could be to
20 just repeat the Pap smear a few months down the
21 line to check again for the persistence of the
22 abnormality.

23 The conclusion I reached here has been
24 based not on looking at someone having
25 unexplained cervicitis for two years

1 continuously, but on getting this Pap smear that
2 showed dysplasia. Based on the dysplasia alone,
3 the follow-up would be the repeat Pap smear to
4 see if dysplasia persists.

5 For persisting cervicitis for any reason,
6 even if you are not dealing with a cancerous
7 change, that would be a perfectly appropriate
8 referral to a gynecologist just for that problem
9 in its own right, even if there never was a
10 cancerous problem associated with the cervix and
11 that, again, would be a matter of judgment for
12 the treating physician as to what point you do
13 that, and you may make that judgment based on
14 how severe it looks in term of abnormality or
15 how much symptomatology it is causing for the
16 patient.

17 Q. And would it still be judgment given the
18 abnormal Pap or the Pap showing dysplasia?

19 A. I still think there's judgment involved, yes.

20 Q. What is your understanding of what the next Pap
21 showed, the one in August?

22 A, The next Pap smear says source Pap smear, this
23 is dated August 24, 1987, and then it says
24 neoplastic exam, cell study negative. Then it
25 says see end of this report, and at the bottom

1 it says neoplastic exam, atypical cervical cells
2 are present.

3 So this is a Pap that says on the one hand
4 it's negative and on the other hand that there
5 are some atypical cervical cells. So this is a
6 report that I would look at and say there's no
7 dysplasia, but there still are some cells here
8 that don't look entirely normal, but the
9 important point is that there's no dysplasia.

10 Q. Okay. And so that means he wouldn't be required
11 to refer to a gynecologist?

12 A. He would not be required to refer to a
13 gynecologist.

14 Q. If that Pap showed dysplasia and it was reported
15 to him as dysplasia, then he would have been
16 required to refer the patient to a gynecologist?

17 A. Yes.

18 Q. Okay. You read Dr. Siegler's deposition?

19 A. I did.

20 Q. Okay. And what's your understanding as to what
21 he sees on that slide?

22 A. According to the deposition, as they look back
23 on it in retrospect, apparently there were more
24 fields in more detail than ever before, there
25 were a few cells that he would have been more

1 concerned about is the impression that I got
2 from the deposition.

3 Beyond that, not being a pathologist myself
4 I really can't judge what he was finding.

5 Q. Okay. And you believe that since it was
6 reported back as it was that Dr. Robson had no
7 obligation to do any follow-up for a year?

8 A. First of all, I would not think that Dr. Robson
9 would have had any way to know that there was
10 anything that could turn into cancer going on
11 here, and I think, yes, he would have referred
12 it to the importance of ongoing surveillance as
13 you would give any woman. In other words, not
14 say you are fine for the rest of your life but
15 say I will see you again next year and keep
16 checking.

17 It's also, as I stated in my report, my
18 belief that if the patient had come back the
19 next year, the next Pap smear would have shown
20 dysplasia, and intervention would have been
21 appropriate and required at that time, the next
22 time she came back.

23 Q. And what would have been her outcome then?

24 A. I would have to honestly leave the discussion
25 for exactly what her prognosis would be at this

1 point to either an oncologist or gynecologist
2 that practices in terms of oncology of the
3 pelvis.

4 I'm certain that if it would have been
5 detected at an earlier stage than it was in 1989
6 that her prognosis would have been better.

7 Q. This is if the Pap smear hypothetically would
8 have been taken in August of '88 or thereabouts
9 would have showed dysplasia?

10 A. Sure.

11 Q. Okay. And your belief is that it would?

12 A. Yes.

13 Q. Do you have a belief as to what the August of
14 '87 Pap shows?

15 A. The only thing I can go by as a nonpathologist
16 is to place myself in Dr. Robson's shoes and say
17 what would I do if this is the report that I
18 received.

19 Q. Well, the only reason I asked you is because you
20 were speculating on what was going to be a year
21 from now, I mean a year from that Pap. So I
22 just wondered if you had any opinion on what it
23 was in August of '87.

24 A. My only opinion in '87 is what it says here, as
25 it was reported out.

1 Q. Okay. Are you familiar in your practice with
2 the disease progressing from dysplasia to normal
3 and back to dysplasia?

4 MR. DAPORE: Are you asking him in
5 his own practice?

6 MR. MELLINO: Well, I guess I did
7 ask him that, yes.

8 A. I have not seen that in my own practice.

9 Q. Are you familiar with that occurring?

10 A. That's a issue that I would honestly have to
11 leave to a pathologist since, again, that's a
12 pathological process of analysis. That wouldn't
13 be within my area of specialty.

14 Q. I take it since you don't have any opinion on
15 what her prognosis would have been had this been
16 diagnosed in '88, you don't have any opinions on
17 what her prognosis would have been had it been
18 diagnosed in '87, or am I wrong about that?

19 A. I don't have any evidence that she had cancer in
20 1987. So presumedly what I'm stating is that if
21 the cancer would have been diagnosed at a point
22 earlier than the diagnosis in 1989, at an
23 earlier period of time, presumedly she would
24 have been followed at an earlier stage and in a
25 general way would have had a better prognosis, a

1 better probability of doing well.

2 But for exactly what the numbers would be,
3 the statistics, I would have to leave that to
4 experts that do this as their specialty.

5 Q. Okay. So you don't -- your opinion is that she
6 did not have cancer in 1987?

7 A. That's my opinion.

8 Q. Okay. So she would have been treatable and
9 curable at that time?

10 A. Well, again, at that time I don't have any
11 evidence that she had cancer. So there wouldn't
12 have been anything yet to treat.

13 Q. Okay. Let's say if she had dysplasia in August
14 of '87, what would -- what would the treatment
15 be?

16 A. I don't have any primary evidence from what I
17 received here that she did.

18 If dysplasia had been found in August of
19 1987, as a theoretical, yes, I believe that
20 could have been very easily treated.

21 Q. Okay. Have we pretty much talked about all of
22 your opinions in this case? Do you have any
23 other opinions that I haven't covered?

24 A. No. I think I have had a chance here to share
25 with you all the major conclusions that I have

1 reached.

2 Q. Okay. Do you have any criticisms of any of the
3 other doctors that were involved in this case?

4 A. No.

5 Q. Do you know Dr. MacFee?

6 A. Yes.

7 Q. How do you know him?

8 A. We have worked together on several shared
9 patients. He has been the regular office
10 gynecologist for some of my patients, and he has
11 also seen some of my patients ultimately in
12 gynecologic/oncology consultation, and I respect
13 him.

14 Q. Do you know Dr. Murphy?

15 A. I don't think so.

16 Q. Dr. Pamela Murphy.

17 How about Dr. Hines?

18 A. No.

19 Q. How about Dr. Siegler?

20 A. No.

21 Q. Do you know Dr. Robson?

22 A. No.

23 Q. How about Dr. Rabin, who is a pathologist?

24 A. No.

25 Q. Did you talk to Dr. MacFee about this case at

1 all?

2 A. No.

3 Q. On other cases that you've acted as an expert
4 witness in, have you ever been retained by the
5 firm of Reminger & Reminger?

6 A. No.

7 Q. How about Kitchen, Messner & Deery?

8 A. No.

9 Q. Have you been sued before?

10 A. No. Although I have testified before, as I said
11 before.

12 MR. MELLINO: Okay. I don't think
13 I have any other questions of the doctor.

14 THE WITNESS: Thank you.

15 MR. BONEZZI: I have no
16 questions.

17 MR. KITCHEN: Just one or two.

18 - - - -

19 CROSS-EXAMINATION OF

20 HADLEY MORGENSTERN-CLARREN,, M.D.

21 BY MR. KITCHEN:

22 Q. I represent Mount Sinai Medical Center, and part
23 of the materials that you reviewed included the
24 deposition of Virginia Fogaras?

25 A. Yes.

1 Q. Reading between the deposition of Virginia
2 Fogaras and the path report of August of 1987,
3 do you have any opinions regarding the standard
4 of practice of Virginia Fogaras as a
5 cytotechnologist in this case?

6 A. I have no opinions about this.

7 Q. And I assume you have no opinions as against
8 Mount Sinai Medical Center either?

9 A. Correct.

10 MR. KITCHEN: Thank you. I have
11 no further questions.

12 MR. DAPORE: You have the right to
13 review the transcript and sign it or waive
14 signature.

15 I will supply you a copy in either
16 event.

17 THE WITNESS: I think I would
18 prefer to read it.

19 MR. DAPORE: Okay.

20
21 HADLEY MORGENSTERN-CLARREN, M.D.
22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Susan M. Cebon, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named HADLEY MORGENSTERN-CLARREN, M.D., was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this 29 day of April, A.D. 1991.

Susan M. Cebon
Susan M. Cebon, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 16, 1993

W I T N E S S I N D E XPAGE


3

CROSS-EXAMINATION
HADLEY MORGENSTERN-CLARREN, M.D.
BY MR. MELLINO

60

CROSS-EXAMINATION
HADLEY MORGENSTERN-CLARREN, M.D.
BY MR. KITCHEN

University Suburban
Health Center



1611 South Green Road
Cleveland, Ohio 44121

USHC Physicians, Inc

Robert B. Cameron, M D
Internal Medicine
Gastroenterology

Lawrence T. Kenr, M D
Internal Medicine
Rheumatology

Thomas J. King, M D
Internal Medicine

H. Morgenstern-Clarren, M D
Internal Medicine

J. Dennis Morton, M D
Internal Medicine
Nephrology

Stephen A. Rudolph, M D
Internal Medicine

Richard A. Wiant, M D
Internal Medicine

Emeritus

Gerald T. Kent, M.D.

Douglas J. Moore, M.D.

William E. Schlesinger, M.D.

April 7, 1991

Susan M. Cebron
Mehler and Hagestrom
1750 Midland Building
Cleveland, Ohio 44115

re: DOC. 322

RE: WYNN vs. ROBSON et. al.

Dear Ms. Cebron,

I have reviewed my deposition of April 15, 1991 and request the following corrections:

- E) page 18, line 21, change "isn't" to "is"
- 2) page 41, line 20, change "some" to "no"
- 3) page 45, line 23, change "are considered" to "are not considered"

I appreciate your courtesy.

Yours sincerely,

Hadley S. Morgenstern-Clarren, M.D.