

Last Name	Morgenstern, Clarren
First Name	Nadley
Specialty	Internal Medicine
Party	Plaintiff <input checked="" type="checkbox"/>
Date (format =99/99/9999)	10/3/00
Type of Document	Articles <input checked="" type="checkbox"/>
Type of Injury	Renal Hypertension
Type of Case	MM
eDocument Name	(d/dae011501.pdf)

Submit Page

Hosted by The Nuremberg, Plevin Law Firm  
Copyright © 1999-2001 CATA



IN THE COURT OF COMMON PLEAS

SUMMIT COUNTY, OHIO

VICKIE MIGLORE, )  
et al., )

Plaintiffs, )

versus )

DR. DAVID COLA, )  
et al., )

Defendants. )

CASE NO. CV 99 03 0973

DEPOSITION OF

H. MORGENSTERN-CLARREN, MD

- - - - -

Deposition of H. MORGENSTERN-CLARREN, M.D.,  
a Witness herein, called by the Defendants for  
Cross-Examination pursuant to the Ohio Rules of  
Civil Procedure, taken before the undersigned,  
Christine Leisure, a Registered Professional  
Reporter and Notary Public in and for the State  
of Ohio, at the offices of 1611 South Green Road,  
South Euclid, Ohio, on Tuesday, October 3, 2000,  
at 3:20 p.m.

- - - - -

## 1 APPEARANCES:

2  
3 On Behalf of the Plaintiffs:4 Howard D. Mishkind, Attorney at Law  
5 Becker & Mishkind  
6 Skylight Office Tower, Suite 660  
1660 West Second Street  
Cleveland, Ohio 44113

## 7 On Behalf of the Defendants:

8 Mark D. Frasure, Attorney at Law  
9 Buckingham, Doolittle & Burroughs  
4518 Fulton Drive, N.W.  
Canton, Ohio 4471810  
11 - - - - -  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

## I N D E X

EXAMINATION BYPAGE

Mr. Frasure

4

PLAINTIFF'S EXHIBITS MARKED

None

DEFENDANT'S EXHIBITS MARKED

None

- - - - -

1 WHEREUPON,

2 H. MORGENSTERN-CLARREN, M.D.

3 after being first duly sworn, as hereinafter  
4 certified, testified as follows:

5 CROSS-EXAMINATION

6 BY MR. FRASURE:

7 Q. Let the Record show that the defense, Dr. Cola,  
8 is taking the discovery deposition of Dr. Hadley  
9 Morgenstern-Clarren. And we're at your office,  
10 Doctor, correct?

11 A. That is correct.

12 Q. On October 3 of this year of 2000, right?

13 A. Yes.

14 Q. Doctor, we have your C.V. here. You're board  
15 certified in internal medicine, right?

16 A. Yes, I am.

17 Q. Any other specialty you're board certified in?

18 A. No.

19 Q. All right. And you have hospital privileges at  
20 which hospitals?

21 A. My privileges are at the University Hospitals of  
22 Cleveland.

23 Q. And I take it they've never been suspended or  
24 revoked at any hospital?

25 A. That's correct.

1 Q. And you haven't had any licensure problems with  
2 the state?

3 A. True.

4 Q. Are you licensed in any other states besides  
5 Ohio?

6 A. No, only in Ohio.

7 Q. Do you have any subspecialty in nephrology or  
8 kidney?

9 A. I do not.

10 Q. Tell me about your medical-legal review, if you  
11 would, Dr. Morgenstern --

12 MR. MISHKIND: I'm sorry. I was going  
13 to ask you what you meant by that, but you were  
14 about to --

15 MR. FRASURE: That's just a preface.  
16 That's an introductory.

17 Q. How long have you been reviewing medical-legal  
18 cases?

19 A. I have reviewed medical-legal matters since 1983.

20 Q. Pretty much continuously since then?

21 A. Yes.

22 Q. And currently how often do you get a case in to  
23 look at approximately?

24 A. In the last few years I've been reviewing  
25 approximately thirty-five cases per year.

1 Q. Cases sent to you? That doesn't mean you give  
2 that many depositions, right?

3 A. Exactly.

4 Q. And that's been that way for the past few years?

5 A. That's right.

6 Q. What is the breakdown, if you can estimate, for  
7 plaintiff and defense?

8 A. Prior to the last few years it used to be pretty  
9 even, about fifty percent for each side. But in  
10 the last few years at the same time that the  
11 number of cases has increased, it also has gone  
12 more toward plaintiff. And I would say probably  
13 about seventy percent of the cases that I'm  
14 reviewing now are on the plaintiff's side.

15 Q. That is for the past two years?

16 A. Past three.

17 Q. Approximately how many cases have you reviewed  
18 from Mr. Mishkind or his law firm, Mr. Becker,  
19 any other members of that firm?

20 MR. MISHKIND: Before you answer, let  
21 me just -- I think you've asked three different  
22 questions, two lawyers in the firm.

23 MR. FRASURE: The whole firm.

24 MR. MISHKIND: As opposed to for me?

25 MR. FRASURE: We'll get to you.

1 MR. MISHKIND: That's fine.

2 A. I probably have reviewed about six cases for the  
3 Becker & Mishkind law firm over the last ten  
4 years.

5 Q. And for Mr. Mishkind; do you know?

6 A. This is, as far as we recall, the first time that  
7 we've worked on a case here together.

8 Q. All right. Doctor, what have you reviewed so far  
9 in this case?

10 A. The initial records which I was asked to review  
11 are here and then there are multiple additional  
12 records which keep coming in. The original  
13 records were the office records of Dr. Cola and  
14 of Dr. Spoljaric, S-p-o-l-j-a-r-i-c, which not  
15 only includes their office notes themselves, but  
16 Dr. Cola's also includes some discharge summaries  
17 and some additional hospital records  
18 interspersed.

19 In addition, I have read the two  
20 depositions that were taken of Vickie Miglore and  
21 the deposition of Dr. Cola and of Dr. Spoljaric.  
22 So I've had a chance to review them. Subsequent  
23 I have also received the report of Dr. Culley,  
24 C-u-l-l-e-y, the report of Dr. Zarconi,  
25 Z-a-r-c-o-n-i, some additional records from Dr.

1 Zarconi, a report from Dr. Hebert, H-e-b-e-r-t, a  
2 report from Dr. Perlman, P-e-r-l-m-a-n, and the  
3 report from Dr. Zizic, Z-i-z-i-c.

4 And just today Mr. Mishkind brought me  
5 a report from Dr. Schwarze, S-c-h-w-a-r-z-e,  
6 which quite frankly I've just received and I  
7 haven't had a chance to absorb it.

8 Q. Do you want to take a chance to observe it?

9 A. Sure.

10 MR. MISHKIND: Just let me indicate  
11 that the notebook that he has in front of him,  
12 when he said various portions of the record,  
13 they're discharge summaries from Akron City.

14 MR. FRASURE: Sure. We'll get into  
15 that.

16 Q. Can I look at these while you're looking at that?

17 A. By all means.

18 MR. FRASURE: Sort of the core of all  
19 the records, right?

20 MR. MISHKIND: Right. And in that  
21 package also is a copy of the letter, the  
22 complaint letter. It's in the back.

23 A. Okay.

24 Q. So you've read Schwarze's two-page letter?

25 A. Correct.

1 Q. And you've reviewed portions of the patient's  
2 records, but not the complete set of later  
3 records, right, after the diagnosis was made?

4 A. That's right. Some additional treating records  
5 we looked at together prior to the deposition,  
6 but those were not originally given to me as part  
7 of my review. I also just today was given a copy  
8 of what is essentially a typed record of Dr.  
9 Cola's notes from August, September, October of  
10 1997, which is good, because it's --

11 Q. It's hard to read?

12 A. It's easier to read typed. And a copy of the  
13 record requisition to the patient from Dr. Cola  
14 dated August 13th, 1997.

15 Q. Right. So am I correct that for your opinions  
16 you don't need the whole set of subsequent  
17 records once the diagnosis of this disease was  
18 made, correct?

19 A. I do not need those additional records to talk  
20 about the care issues for Dr. Cola.

21 Q. All right. Do you plan to -- I can narrow this  
22 down and maybe we can be out of here by 4:00.

23 Do you plan to get into at trial any  
24 of her present conditions today and her prognosis  
25 and her future condition?

1 MR. MISHKIND: You're looking to me?

2 MR. FRASURE: Either of you.

3 MR. MISHKIND: Let me just qualify  
4 that I do plan on asking him in general based  
5 upon the information that he has, but I'll let  
6 Dr. Morgenstern-Clarren answer it since I'm not  
7 sworn.

8 Q. Let me ask you, you have not examined the  
9 patient, of course, have you?

10 A. That's true.

11 Q. You have not planned to?

12 A. I am not planning to.

13 Q. What opinions do you feel you can render in light  
14 of that about her current condition and her  
15 future condition?

16 A. Mr. Mishkind asked me specifically before we  
17 started today, in view of the fact that her  
18 current kidney function has at least stabilized  
19 for now at the level of about one-third normal  
20 and that she has developed renal hypertension,  
21 although treated, what my opinion would be based  
22 on those facts about her life expectancy. And  
23 the opinion that I gave him is the same that I  
24 will give to you, and that is these would cause  
25 an expected reduction in life expectancy of ten

1           years less than it would have been if she did not  
2           have these problems.

3       Q.     Ten years what it would have been without this  
4           disease?

5       A.     Correct.

6       Q.     Why is that?

7       A.     Because the reduction in kidney function which is  
8           still present with reasonable medical certainty  
9           will deteriorate when she is older and does make  
10          her vulnerable to additional issues.

11      Q.     Kidney issues?

12      A.     With her kidneys as well as systemic issues. In  
13           addition, the hypertension accelerates  
14           atherosclerosis leading to increased risks of  
15           strokes and heart problems.

16      Q.     And is this true even if she doesn't need a  
17           kidney transplant?

18      A.     Yes, it is.

19      Q.     Are you going to opine on what the odds are she  
20           would need a kidney transplant?

21      A.     I will not give any opinion about that.

22      Q.     Fair enough. Do you intend to offer any  
23           opinions, Doctor, on what her kidney and overall  
24           condition would have been had the diagnosis been  
25           made by someone, let's say, in the fall of 1997,

1 the September, October range?

2 A. I can answer as a general medical doctor when  
3 doctors have patients with Wegener's. I can't  
4 answer of course as a nephrologist. But we know  
5 that when her blood function of her kidney, the  
6 blood tests of her kidney function were obtained  
7 in August of 1997, they were normal. With  
8 reasonable medical certainty, if the diagnosis  
9 had been made and treatment offered while the  
10 kidney function was normal, it would have  
11 remained normal.

12 Q. So there would have been no kidney damage; is  
13 that what you're saying?

14 A. Correct.

15 Q. Can you have the disease present in your body and  
16 have normal kidney function by blood tests?

17 MR. MISHKIND: The disease meaning  
18 Wegener's granulomatosis?

19 MR. FRASURE: Yes.

20 A. Yes, you can.

21 Q. Is it your opinion here that as of August of '97  
22 she had that disease present in her body but yet  
23 her kidney studies were normal, the creatinine  
24 and the BUN?

25 A. No, that's not my opinion.

1 Q. Go ahead. Explain.

2 A. My opinion is that at that point the blood in the  
3 urine was, as far as I can tell, the first  
4 evidence with reasonable medical certainty to  
5 state that there was Wegener's in her body. She  
6 had multiple other symptoms, as we know, which as  
7 you look back, you can say possibly they were  
8 related to Wegener's or not. But I'm not stating  
9 opinions about that because I don't think one can  
10 do it with reasonable medical certainty as of the  
11 fall of 1997.

12 But we do know that she developed  
13 Wegener's involving her kidney leading to renal  
14 failure requiring dialysis. The blood is the  
15 classical finding in Wegener's of the kidney.  
16 It's a continuum to me. It's not like blood was  
17 present from one thing in August of 1997, and  
18 then Wegener's was found sometime in 1998. To me  
19 that is the objective first finding even at that  
20 time, though kidney function as a global unit was  
21 still normal.

22 Q. Are you familiar with what percentage of  
23 Wegener's patients have a positive protein early  
24 on?

25 A. I'm not familiar with that percentage.

1 Q. Can we agree that most Wegener's patients have  
2 positive protein in their urine?

3 MR. MISHKIND: Objection. If you  
4 know.

5 A. I do not have an opinion about that.

6 Q. Is that outside of your area? Is that the  
7 reason?

8 A. With reasonable medical certainty, I can't give  
9 you any specific answer about what percentage of  
10 protein, either a majority or minority.

11 Q. That's all I'm asking. Do a majority of patients  
12 with that disease have protein in their urine?

13 MR. MISHKIND: Let me just object.  
14 I'm not sure you're stating at what stage in the  
15 disease and I'm not sure that -- I think the  
16 doctor has already answered the question.

17 A. I'm not going to have an opinion about the  
18 protein in the urine.

19 Q. And even at the stage when blood, let's say, is  
20 present in the urine as a result of the disease,  
21 do you have any opinion on what percentage of  
22 those patients would you expect to have protein  
23 at the same time in the urine?

24 A. No, I would not have an opinion.

25 Q. Let me ask you your experience then with this

1 disease, Doctor, and let me step back even  
2 further. Glomerulonephritis, is that more  
3 general? Is that a broader term than Wegener's  
4 or is Wegener's broader?

5 A. Wegener's is broader.

6 Q. To have Wegener's, you have to have  
7 glomerulonephritis at some point?

8 A. No.

9 Q. You don't?

10 A. Wegener's is a necrotizing vasculitis that can  
11 involve multiple different organs, either singly  
12 or in some combination, within your body.

13 Q. Not necessarily kidneys?

14 A. Most but not all patients have involvement of the  
15 kidney. And there's variable amounts of  
16 involvement of the kidney and different ways that  
17 that can present.

18 Q. If it involves the kidneys, that is Wegener's,  
19 will the patient have glomerulonephritis?

20 A. Not always.

21 Q. How many patients would you estimate over the  
22 years that you've treated before the diagnosis of  
23 Wegener's was made, and then the diagnosis was  
24 made either by you or some specialist that you've  
25 sent the patient to? Can you estimate for me?

1 A. Can you repeat the question, please?

2 Q. Yes. Over your practice -- and that goes back  
3 how long?

4 A. Twenty-one years.

5 Q. In twenty-one years, can you estimate how many  
6 patients that you've had who, when they were with  
7 you initially didn't have Wegener's as far as you  
8 know, but at some point developed it, and you  
9 either picked it up yourself or it was picked up  
10 because the patient went on to another specialist  
11 and they were still your patient at the time and  
12 it was discovered?

13 A. I've had one of my own patients in the time I've  
14 been in practice. The other patient I had on my  
15 service. It was on the staff service. They were  
16 already admitted to my service at University  
17 Hospital, were already systemically ill and we  
18 made the diagnosis. But it had not been one of  
19 my own patients that I had followed when they  
20 were well and subsequently into their becoming  
21 ill.

22 Q. So by one, can we include even those that have  
23 come to you as a doctor already diagnosed with  
24 Wegener's? Would that include that one, too, or  
25 would that be more?

1 A. There may have been somebody else who has had  
2 Wegener's, but I don't recall them. I think  
3 those are the only two that are coming to mind at  
4 all.

5 Q. So we can agree it's a pretty rare condition in  
6 internal medicine and family practice?

7 A. I agree.

8 Q. We have your report, of course, in two parts.

9 A. Yes.

10 Q. February 1 concerns mostly I think Dr. Cola?

11 A. Yes.

12 Q. Would I be correct to say then that from reading  
13 this, that chronologically now your first  
14 standard of care criticism of Dr. Cola concerns  
15 the August 13th visit and the blood in the urine?

16 A. I agree.

17 Q. Knowing that family practitioners and general  
18 practitioners have different ways of doing the  
19 same thing, I want to ask you if something is  
20 still within the standard of care even though you  
21 may not do it that precise way. Do you follow  
22 me?

23 MR. MISHKIND: Let me object to the  
24 form of the question.

25 MR. FRASURE: Come on. He can handle

1           himself.

2                   MR. MISHKIND:  You've made a statement  
3           about doctors having different ways of doing it,  
4           and legally I'm not sure that you've made an  
5           accurate statement.  My objection is noted but  
6           the doctor can go ahead and answer.

7       A.       Can I answer?

8       Q.       Well, we're not to the question yet.  But do you  
9           know what I mean?

10      A.       Actually I was going to say I have to disagree  
11           with you, because at least for medical problems  
12           for adult patients, the standard of care for a  
13           general internist, a family practitioner and a  
14           general practitioner is the same.

15      Q.       I understand that, but my point is -- and I'm not  
16           to the given question yet.  But on a given  
17           question there might be, depending on the issue,  
18           more than one way of handling something and still  
19           be within the standard of care, right?

20      A.       There can be, but it would not be a difference  
21           between our specialty training.

22      Q.       That wasn't what I was getting to.  I understand  
23           what you're saying.  Where I want to go is the  
24           urine dipstick.  Did the standard of care require  
25           Dr. Cola on the 13th to get a urine on the

1 patient or not based upon the symptoms?

2 A. The standard of care did not require it on August  
3 13th, although it did require that it be done.

4 Q. It did require that it be done --

5 A. That it be followed and repeated.

6 Q. Now, if he's going to get a urine initially on  
7 the 13th, does it have to be within the standard  
8 of care sent to the lab, or can you do urine  
9 dipstick on the initial urine? That's what I  
10 want to start with.

11 A. You can do it on the initial urine.

12 Q. In the office?

13 A. You could.

14 Q. Does your office ever do that?

15 A. Yes.

16 Q. Now, then we know that it was plus 3 on the  
17 blood, right?

18 A. We do.

19 Q. Not a 4; am I correct?

20 A. Yes.

21 Q. Do you accept that there was no protein by  
22 dipstick?

23 A. I certainly accept that there was no protein by  
24 dipstick.

25 Q. Right. Is dipstick sensitive for protein? Has

1           that been your experience?

2       A.     There are more accurate tests that could be done  
3           and you can also do a quantitative measurement of  
4           the total amount of protein in a 24-hour urine  
5           collection. But in general, yes, it's generally  
6           sensitive.

7       Q.     When you do dipsticks here in your office and  
8           you're looking for protein and you don't find it,  
9           do you sometimes stop at that point?

10      A.     Yes.

11      Q.     So he does the dipstick, plus for blood. In a  
12           woman am I correct that most of the time -- not  
13           all the time, but most of the time that will be a  
14           benign and/or urinary tract condition?

15      A.     Again, I have to disagree with you because that  
16           depends a little bit on the context. The reason  
17           it is frequently common in women as a benign  
18           finding is because they're having a menstrual  
19           period -- we know this patient didn't -- or  
20           because they're having a bladder infection. But  
21           we know this woman didn't because the leukocyte  
22           test was negative. So if you're saying it's not  
23           a period and it's not an infection, then blood in  
24           the urine is not benign and is not common and  
25           demands an explanation.

1 Q. Let me follow up on that, if I may. There were  
2 negative leukocytes in the white blood count,  
3 right?

4 A. Yes, in the dipstick.

5 Q. Does that rule out urinary tract infection?

6 A. It makes it unlikely but it does not absolutely  
7 rule it out.

8 Q. Of course at the time Dr. Cola gets the dipstick  
9 with the blood, microscopic blood, he doesn't  
10 know yet that the leukocytes will be negative,  
11 right?

12 A. It's the same dipstick according to the --

13 Q. Oh, I see. I thought you meant based on the  
14 later blood work.

15 A. No, I'm talking about right on the same urine  
16 test.

17 Q. I follow you.

18 A. So it should be the same information at the same  
19 time.

20 Q. So that should tell him that a urinary tract  
21 infection is unlikely --

22 A. Exactly.

23 Q. -- and that it's something else? And that  
24 something else may be benign or not benign,  
25 right?

1       A.     Well, actually most of the things that cause  
2             blood when they're not a simple bladder infection  
3             or a menstrual period are -- it's blood, so  
4             that's not benign. That could be cancer, that  
5             could be a polyp, it could be some other  
6             inflammatory condition within the urinary tract,  
7             it could be a kidney stone. It could still be an  
8             infection even with the negative leukocytes,  
9             either bacterial or tuberculosis, and it could be  
10            vasculitis. So it's actually a nasty list. It's  
11            not a benign list.

12       Q.     There's some benign conditions on there?

13       A.     That is actually a serious list, you know. And  
14             if you find -- if you're lucky enough to find a  
15             benign explanation, that's terrific. But this is  
16             a serious list.

17       Q.     And the other laboratory work that Dr. Cola  
18             ordered on the 13th, the chem panel, the  
19             chemistry panel, was that appropriate to order  
20             what he did order?

21       A.     Yes.

22       Q.     The ultrasound of the abdomen that he ordered,  
23             was that appropriate?

24       A.     Yes, it was.

25       Q.     Would you agree then that the tests that he

1           ordered that day or did that day were  
2           appropriate?

3       A.     Yes, and I said as much in my report.

4       Q.     And that no further tests, except the follow-up  
5           on the urine, needed to be done at that point or  
6           needed to be ordered at that point on the 13th,  
7           correct?

8       A.     Right. He did some things that were necessary  
9           and I agree that those were totally appropriate.  
10          But the repeat of that urine was critical and was  
11          not done.

12      Q.     We'll get to that. Now, the blood work came back  
13           and the kidneys were okay, right, per the blood  
14           work?

15      A.     Yes.

16      Q.     We had two elevations on the liver functions?

17      A.     Correct.

18      Q.     And she had elevations a couple years before that  
19           in the same area, hadn't she?

20      A.     I agree.

21      Q.     Her sedimentation rate was normal, I believe.

22                   MR. MISHKIND: Are you talking on the  
23           13th?

24                   MR. FRASURE: 13th of August or  
25           whenever the blood work was.

1 MR. MISHKIND: Here's a copy, Doctor.

2 THE WITNESS: Thank you.

3 A. Yes. Thank you for showing this to me, because I  
4 did not recall him doing the sedimentation rate  
5 and I don't see one on this sheet.

6 Q. I thought he had.

7 MR. MISHKIND: Those are the Barberton  
8 Citizens Hospital records and they do not reflect  
9 a sed rate having been done.

10 MR. FRASURE: I know Dr. Spoljaric did  
11 one.

12 MR. MISHKIND: Right. His was  
13 elevated.

14 A. It was elevated.

15 Q. Yes, I know. Well, let's assume there isn't one.  
16 Does the standard of care require him to have  
17 ordered one on the 13th?

18 A. No.

19 Q. All right. When Dr. Cola gets back the blood  
20 work showing two positives there for liver, he  
21 needs to repeat those, doesn't he, or not?

22 A. There are many ways that could be handled.

23 Q. What is the standard of care?

24 A. Since there was some abnormality in the past --  
25 well, one way was the abdominal CT, which was

1 already ordered. One of the things that would  
2 include would be an image of the liver and the  
3 biliary tract draining from the liver. So that  
4 would be an important test right there.

5 And then having ruled out anything  
6 really frightening in the visual appearance of  
7 the liver in that scan, then you could follow up  
8 on those liver tests in many ways, follow them  
9 over time, send the patient to a liver  
10 specialist. There would be options.

11 Q. But sending the patient to a liver specialist  
12 wouldn't be required? In other words, the  
13 general practitioner could follow it for a while,  
14 at least?

15 A. I agree.

16 Q. Do serial tests?

17 A. Yes.

18 Q. Four to six to eight weeks apart, something like  
19 that?

20 A. That would be fine.

21 Q. When you do urine dipstick in the office, do you  
22 sometimes not have the results until after the  
23 patient has left?

24 A. Well, usually the reason I do a quick dipstick is  
25 because I've got the patient sitting there. So

1 in my own practice that situation wouldn't arise,  
2 because specifically I want something that they  
3 can do literally immediately and get a quick  
4 direction for me as to what way to go. It's  
5 frequent that a full urine analysis which  
6 includes microscopic examination will come to me  
7 after the patient has left.

8 Q. Come to you from the office?

9 A. From the lab up here or from the lab downstairs.  
10 That's common. But a dipstick, commonly I ask  
11 for that as a quick test while the patient is  
12 sitting there.

13 Q. So that you have the results?

14 A. Yes.

15 Q. Okay. Is it below the standard of care for Dr.  
16 Cola to have had the results after the patient  
17 had left but still the same day?

18 MR. MISHKIND: Let me object because  
19 you're asking him a hypothetical that I don't  
20 believe is consistent with Dr. Cola's testimony.  
21 You can go ahead and answer the question.

22 A. I also admit I don't know exactly when that day  
23 he became aware of this.

24 Q. Did you --

25 MR. MISHKIND: He's in the middle of

1           answering the question.

2                   THE WITNESS:  No, I'm finished.

3                   MR. MISHKIND:  It looked like your  
4           lips were about to move.

5       Q.       Let's assume that he did not know the results  
6           until sometime after the patient has left but  
7           it's still the same day.  Is that in and of  
8           itself below the standard of care that he doesn't  
9           know the results until the patient is gone that  
10          day?

11      A.       In and of itself that is not below the standard  
12           of care.

13      Q.       Because it's not something that has to be  
14           addressed that very second, right?

15                   MR. MISHKIND:  Objection.

16      A.       Well, that's not the reason.  It actually is a  
17           very important finding, as I stated, and it does  
18           have to be addressed I think promptly.  It simply  
19           isn't something that you have to address the  
20           minute that the patient is sitting there with  
21           you.

22      Q.       That's what I was getting to.  You've read Mrs.  
23           Miglore's deposition, right, both parts?

24      A.       Yes.

25      Q.       What is your understanding from her deposition of

1           what she learned from Dr. Cola's office at some  
2           time later in August, the last portion of August,  
3           about what they wanted her to do?

4       A.     Actually I recall from her deposition that she  
5           was very frustrated and confused and she had  
6           tried several times to communicate with the  
7           office and she wasn't getting calls back. From  
8           what I recall, she was never told about the blood  
9           in the urine.

10      Q.     All right. Are you assuming that she was told  
11           that the doctor wanted to see her again and come  
12           into the office?

13      A.     I do not recall reading that in her deposition.

14      Q.     Were you aware that she knew some tests were  
15           positive and the doctor wanted to do some more  
16           tests?

17      A.     Let me go back and take a look as to her  
18           recollection. I'll be quick.

19      Q.     It's the second part of the deposition. It's not  
20           the first part. I think it's the 65 to 75 range,  
21           somewhere in there.

22                   MR. MISHKIND: Page 63, if you look at  
23           that, Doctor.

24      A.     Well, perhaps you can help me, because this  
25           reflects my memory, which is she was having

1           trouble getting information and was trying to  
2           request his calling her back and he didn't. She  
3           was very confused as to what was found or what  
4           she was supposed to do.

5       Q.    Top of 63, line 8, among some other things, she  
6           said that she knew that he wanted to see her  
7           again. Page 63, line 8.

8       A.    It does say that.

9       Q.    And that the doctor was a little concerned. She  
10          had mentioned hearing that from the staff person,  
11          line 5.

12      A.    It does say that.

13      Q.    And I agree, it goes on, she says that she wanted  
14          to talk to the doctor by phone.

15      A.    But I don't see anything that states that she was  
16          told specifically what was wrong or given any  
17          information about it.

18      Q.    No, she does not say that in her deposition, I  
19          don't think. I think she said he wanted some  
20          more blood work at page 70, line 12.

21      A.    Yes, it does say that, too. I agree.

22      Q.    All right. Now, you mentioned in your report the  
23          standard of care required repeat urinalysis  
24          within two weeks?

25      A.    Yes.

1 Q. Two weeks of the 13th of August, correct?

2 A. Yes.

3 Q. If it had been done, let's say, at six weeks, do  
4 you have an opinion based upon reasonable medical  
5 probability what difference in the outcome would  
6 have been -- would have resulted, if any, as a  
7 result of four more weeks?

8 MR. MISHKIND: Let me just object to  
9 the question because it assumes that that would  
10 have been the specific test that we're talking  
11 about. I think we're confusing tests as to what  
12 he planned on doing in six weeks. But be that as  
13 it may, you can answer.

14 A. There should have been arrangements for her to  
15 come in for a complete urinalysis and additional  
16 tests actually as soon as Dr. Cola knew it was  
17 abnormal. And I've tried to be as fair to Dr.  
18 Cola as I possibly could be by giving him two  
19 weeks as an absolute limit.

20 Q. But if you add four more to the two to get six,  
21 can you say what difference --

22 A. I can. First it's a breach in the standard of  
23 care for a general medical doctor, you know, not  
24 to do it in a timely fashion. The blood can  
25 represent a serious condition as we've discussed.

1           We don't know what. In actual fact, I don't  
2           think I can state with reasonable medical  
3           certainty that a delay of four weeks beyond that  
4           would have actually resulted in Mrs. Miglore's  
5           case in any additional damage or problems because  
6           I don't know the exact time in which her kidney  
7           function would have started to become impaired.

8       Q.   Fair enough. If hypothetically the BUN and/or  
9           creatinine had been significantly abnormal, which  
10          it wasn't --

11       A.   Correct.

12       Q.   -- but hypothetically and keeping everything  
13           else the same, what would that have added to the  
14           situation?

15       A.   Two things. Number one, you would know there  
16           already is a kidney problem, so it would become,  
17           you know, obvious that you have to get  
18           investigations going as to what is going on in  
19           these kidneys. So that certainly would be an  
20           obvious situation.

21                   Number two, viewed the other way, you  
22           would also know you already were too late to  
23           prevent kidney damage. This is a situation where  
24           you've got a kidney problem but you don't have  
25           damaged kidney function yet, which gives you the

1           opportunity to grab the situation, make the  
2           diagnosis, and start treatment before there's  
3           kidney damage. So the delay in getting started  
4           is the sacrifice of that opportunity.

5       Q.    Now, we know that she went to two other  
6           physicians in the fall of '97. Dr. Torok,  
7           T-o-r-o-k, I think he's an orthopaedist.

8       A.    Yes, that's my understanding.

9       Q.    And Dr. Schirak, S-c-h-i-r-a-k, a  
10          gastroenterologist --

11      A.    Yes.

12      Q.    -- to whom she had been at different times in the  
13          past.

14      A.    Yes.

15      Q.    Is that your understanding?

16      A.    Yes.

17      Q.    And you've reviewed their notes, have you?

18      A.    I reviewed whatever communication they've had  
19          with Dr. Cola.

20      Q.    Do you see any communication that Dr. Cola got  
21          from either of those doctors in the fall of '97?

22                   MR. MISHKIND: I think the  
23          communication is in Dr. Spoljaric's records.

24                   (A discussion was had off the Record.)

25      A.    I don't find a letter.

1 Q. Right. My question concerned in Dr. Cola's chart  
2 we see no letter or communication back from Dr.  
3 Schirak to Dr. Cola in the fall of '97, correct?

4 A. I agree with you.

5 Q. And the same with respect to Dr. Torok back to  
6 Dr. Cola?

7 A. Right. I've just reviewed Dr. Cola's records.  
8 I agree with you.

9 Q. Did you see that in one of those records, either  
10 Torok or Schirak or both, that in the early fall  
11 of '97 the patient was talking about going to Dr.  
12 Spoljaric as her new primary physician, that she  
13 wanted to go or was going to go to him?

14 A. I do not recall that.

15 Q. Do you find that unusual that Dr. Cola, if he's  
16 really still her primary care physician in  
17 September and October, did not get a report back  
18 from this referral by either of those doctors?

19 MR. MISHKIND: Let me just object.  
20 You're saying September and October and lumping  
21 them together. I think the reference is October  
22 24th, Dr. Schirak.

23 MR. FRASURE: That's Schirak. Dr.  
24 Torok is September.

25 A. I don't have enough information to know if it's

1 unusual or not, because I don't know of the  
2 patterns of communication that these physicians  
3 had for communicating with each other.

4 Q. Are you under any plan in which you have to refer  
5 the patient to a specialist in order for that to  
6 be covered by the patient's insurance?

7 A. Yes, frequently.

8 Q. If you approve a referral to another doctor, a  
9 specialist, do you typically get back some type  
10 of report from the specialist after he or she is  
11 done?

12 A. Typically, yes.

13 Q. Is it your understanding that neither Dr. Schirak  
14 or Dr. Torok are primary care physicians?

15 A. I'm agreeing.

16 Q. Now, we have a primary care physician, Dr. Cola,  
17 through his office -- am I correct, is your  
18 understanding from Ms. Miglore's deposition --  
19 telling her that he wants to see her in about six  
20 weeks, and that he wants to run some more  
21 studies, run some more tests?

22 MR. MISHKIND: I'm sorry. You're  
23 basing that on what?

24 MR. FRASURE: Mrs. Miglore's  
25 testimony.

1       A.     I did not see that in Mrs. Miglore's testimony.  
2             Mrs. Miglore's testimony in her deposition, which  
3             we just looked at together, said that Dr. Cola  
4             did not speak with her and that the office said  
5             they wanted her to come back at some point and to  
6             do blood tests.

7       Q.     I said through his office, not directly. But  
8             through his office she knew as of August, late  
9             August, a couple of things. First, she knew that  
10            he was concerned about her condition, secondly,  
11            that she was to come back and see him in about  
12            six weeks and, thirdly, he wanted to run some  
13            more tests?

14                   MR. MISHKIND: I'm going to object to  
15            that because you're giving him a hypothetical  
16            which is not supported by the facts. But you can  
17            go ahead and answer.

18       A.     I have to hear that as a hypothetical because,  
19             again, I don't see in the deposition where it  
20             says come back in six weeks. And moreover, I  
21             have to tell you from my own experience that if a  
22             doctor is concerned about a patient, just passing  
23             on a message like that through your staff does  
24             not convey concern.

25       Q.     That does not convey concern?

1 A. No, it does not.

2 Q. That he wants to see you in six weeks and he  
3 wants to repeat some studies?

4 A. If you're concerned about the patient, you  
5 contact the patient and you return their call.

6 Q. At page 63 at the top -- I thought we went over  
7 this -- she said the doctor was a little  
8 concerned, he wanted to see me again.

9 MR. MISHKIND: Let me and let him get  
10 to the depo just so we're not --

11 MR. FRASURE: Okay. In the first part  
12 of 63.

13 MR. MISHKIND: Hold on one second,  
14 please.

15 A. Yes, we're actually saying the same things again.  
16 Specifically he wouldn't talk to her, but someone  
17 in the staff said the doctor was a little  
18 concerned and did want her to come back. I mean  
19 there's nothing about that that sounds very  
20 dramatic.

21 Q. Well, on page 70, line 12, they said he wanted to  
22 get some more blood work and I said that I wanted  
23 to speak with Dr. Cola because the symptoms were  
24 worse.

25 A. Yes.

1 Q. And the next answer was I think they said that he  
2 wanted to have it within the next six weeks.

3 Okay?

4 A. But she doesn't agree with that. She says she  
5 agreed that they did want to get some more blood  
6 work.

7 Q. Within the next six weeks?

8 A. I don't know if she agreed with that from the way  
9 it's answered here, Mr. Frasure.

10 Q. I'm just asking you whether it was your  
11 understanding she was told by the doctor's office  
12 what they wanted. I'm not asking you if she  
13 liked that or whether she agreed to it or whether  
14 she wanted to do it differently. Just that she  
15 was told at least those three things, maybe more  
16 or maybe less, that he's a little concerned --

17 A. Yes.

18 Q. -- he wants to see her again in about six weeks  
19 and he wants to repeat some blood work?

20 A. Now, it says that she agreed that she thought  
21 they said they wanted to have the blood work  
22 within six weeks. I'm not sure I see where it  
23 says they wanted her to have an office visit in  
24 six weeks. So if I'm missing that, if you can  
25 show that to me I'll be happy to agree with you.

1 But I just can't find that.

2 Q. Fair enough. Back to 63 then, if you would, line  
3 8, he did want to see me again?

4 A. Yes, I agreed with that.

5 Q. So he wanted to see me again, he wanted to get  
6 some more blood work, and he was a little  
7 concerned, right?

8 A. With those three facts. And it's not clear  
9 exactly when he wanted to see her again, but I'm  
10 agreeing that at least she thought she recalled  
11 that someone in the staff said he wanted the  
12 blood work within six weeks.

13 Q. Okay. We're close enough.

14 A. Okay.

15 Q. She does not see a primary care doctor within six  
16 weeks. In fact, she doesn't see a primary care  
17 doctor for three more months, does she, late  
18 December of '97?

19 A. True.

20 Q. Now, you mentioned in your report -- I think I'm  
21 almost done here -- that he fell below the  
22 standard of care by failing to repeat the urine  
23 test for blood and by failing to provide the  
24 appropriate consultations, which would have  
25 resulted in diagnosis and treatment of the

1 disease.

2 If he had repeated the blood within  
3 the time frame you believe he should, is it your  
4 opinion that more than likely it would have been  
5 positive again for blood?

6 A. That is my opinion.

7 Q. And that would in appropriate care have led to  
8 what?

9 A. That would have led to referral to a urologist  
10 and nephrologist, a kidney specialist.

11 Q. To both or --

12 A. Well, you probably would start with one and, if  
13 necessary, go to the other. For example,  
14 normally you would start with the urologist. And  
15 if the urologist found it, you would be there.  
16 And if the urologist didn't, you wouldn't stop  
17 there, you would go to the nephrologist.

18 Q. So you've gone to urologists frequently, right,  
19 with unexplained hematuria?

20 A. That's the appropriate referral.

21 Q. Do you have any opinion more likely than not what  
22 the urologist would have done by way of testing?  
23 We've got two positive bloods now in the urine.

24 A. Not completely. Conventionally what urologists  
25 will do in the situation is do a direct look

1           inside the bladder, a cystoscopy, and they will  
2           do some imaging tests to the kidney. It could be  
3           an IVP, it could be a CAT scan or ultrasound.  
4           There are lots of different ways that they can do  
5           it. And beyond that, I would honestly have to  
6           defer to them as to what to do in this situation.

7       Q.    Do you have an opinion on probability now whether  
8           the cystoscopy, if done, looking back in  
9           retrospect now, would have been positive to  
10          suggest this disease or to lead to this disease  
11          or not?

12   A.    I honestly have no opinion.

13   Q.    And same way on the imaging studies?

14   A.    Similarly, I truly have no opinion about that.

15   Q.    And are you saying that if hypothetically the  
16          urologist doesn't find the Wegener's or the  
17          kidney disease and tells you that, that he has no  
18          explanation, you would then as an internist have  
19          gone to a nephrologist?

20   A.    Exactly.

21   Q.    What time period are we talking about here?  
22          Within your standard of care, if Dr. Cola repeats  
23          this within two weeks, it's positive, how soon  
24          does he have to get her to a urologist  
25          approximately?

1 A. Working with just sort of normal speed, not any  
2 excessive speed, you're talking about the fall of  
3 1997, we're talking about --

4 Q. A couple of more weeks?

5 A. August, September, into early October, probably  
6 over a period of within I would think about six,  
7 eight weeks at most, you would have had all of  
8 these tests and complete evaluations done by the  
9 specialists, and with reasonable medical  
10 certainty leading to a diagnosis of Wegener's  
11 involving the kidney.

12 Q. Six to eight weeks after the initial visit or the  
13 visit of August 13th; is that --

14 A. Exactly.

15 Q. I've got you.

16 A. And that's conservative. You could do it much  
17 faster, but I'm really trying to be as fair as I  
18 can be.

19 Q. If the nephrologist caught it, do you have an  
20 opinion on how he or she would have caught it,  
21 what tests, how the diagnosis would have been  
22 made within the standard of care?

23 A. Presumably it would have been a combination of  
24 the ANCA test and with or without biopsy of the  
25 kidney.

1 Q. Based on what you told me earlier, just to be  
2 sure I understand, have you had the one patient  
3 you recall that went through this type of route  
4 that led to an ANCA test or a biopsy being  
5 positive?

6 A. The patient that I had actually came in with a  
7 pulmonary presentation and it was a lung biopsy  
8 and the ANCA that led to the diagnosis. The  
9 patient that we had in the hospital actually had  
10 minimal kidney involvement. It was really heart  
11 and lung, as well as sinus involvement.

12 Q. I see.

13 A. And we had the ANCA and lung tissue again made  
14 the diagnosis.

15 Q. Done by which specialist?

16 A. Pulmonary.

17 Q. Okay. You referred the patient first to a  
18 pulmonary --

19 A. It was a patient who actually had unexplained  
20 infiltrates in her lungs and the Wegener's  
21 actually was a surprising finding.

22 Q. Fair enough. You mentioned the pulmonary  
23 manifestation or involvement of Wegener's. Are  
24 you saying here -- and I don't think you are, but  
25 just for clarification -- that as of August 13

1           there was a reason for Dr. Cola to think there  
2           was pulmonary involvement of her lungs?

3       A.     At that time, no.

4       Q.     Okay. Do you think we've covered your opinions  
5           regarding Dr. Cola's care?

6                   MR. MISHKIND: Let me object because  
7           it's an awfully broad question. And I'm  
8           certainly not going to be -- if you're going to  
9           leave it at that, I'm not going to guarantee you  
10          that I'm not going to ask him specifics as it  
11          relates to the opinions that he has in this case  
12          or that he's authored or anything that is  
13          relevant to those opinions.

14                   MR. FRASURE: I think the Rules of  
15          Civil Procedure -- and I think it's Rule 36 --  
16          says the purpose of a discovery deposition is to  
17          find out the doctor's opinions and those that he  
18          will express at trial.

19       Q.     So I'm not trying to trick you and I know one  
20           can't predict the future necessarily exactly what  
21           is going to be asked. But we've talked about the  
22           blood work, what he should have done, what that  
23           would have led to, your opinions on what  
24           difference, if any, it made. Anything else where  
25           you see Dr. Cola fell below the standard of care?

1 A. Yes.

2 Q. Okay.

3 A. And these are related to the opinion I've given  
4 here, but just to flush it out a little bit. In  
5 addition to Dr. Cola having the duty to repeat  
6 the urinalysis to confirm the presence of blood  
7 and then initiate these consultations as we've  
8 described, Dr. Cola also had the duty to inform  
9 Mrs. Miglore that there was blood in her urine.  
10 The patient has a right to know that they've got  
11 an abnormality.

12 Q. And this is separate from repeating it within two  
13 weeks?

14 A. Yes, she should have known that it was being  
15 tested for and what they found. And especially  
16 at the time that she left Dr. Cola's practice,  
17 she left without knowing that there was this  
18 important issue which had not been worked up and  
19 she didn't know that she needed to get someone  
20 else to do it.

21 In the same vein, when Dr. Spoljaric  
22 assumed her care from Dr. Cola, Dr. Cola had not  
23 reconfirmed the presence of the blood. He did  
24 send on the records as he was requested to do,  
25 but he should have specifically communicated

1           somehow to Dr. Spoljaric -- this could have been  
2           by a note, a circle, as well as by a phone call  
3           or some direct communication to Dr. Spoljaric --  
4           by the way, you need to check this, this is the  
5           issue I intended to do but we didn't get it done,  
6           but there's blood in the urine when I saw her in  
7           August, so that needs to be re-checked.

8                   What happened, because Dr. Cola didn't  
9           specifically flag this for the patient and for  
10          the new doctor, is that they both came into the  
11          situation almost guaranteed to fail because they  
12          didn't know that there was a specific issue from  
13          the previous treating physician that now they're  
14          left with the job of managing. So those are  
15          important criticisms.

16                   Also related -- and these go back just  
17          to the follow-up in August of 1997. We talked  
18          about the communication between Mrs. Miglore and  
19          Dr. Cola and Dr. Cola's office when there was the  
20          telephone communication on August 27, 1997. I  
21          mean this clearly wasn't just an issue of blood  
22          in the urine. She was sick and she was calling  
23          and wanting to talk to the doctor. She should  
24          have been given an appointment at that time to  
25          come back in for a re-evaluation.

1                   As a general statement, when a patient  
2                   calls the doctor and says I want to discuss this  
3                   with the doctor, the doctor should have called  
4                   her back. In this situation Dr. Cola should have  
5                   called Mrs. Miglore back and returned her phone  
6                   call. She was very concerned and he didn't call  
7                   her back. He should have done that. Those are  
8                   all of my opinions.

9       Q.       Are you saying that based on the symptoms  
10               reported on the phone call to the staff of the  
11               27th, that that should in and of itself have led  
12               to a re-appointment?

13      A.       It is.

14      Q.       What specifically can you point to there that you  
15               say that --

16      A.       The entirety of it. It takes about a quarter of  
17               a page just to get out all of her complaints.  
18               And she thought that she was having multiple  
19               complaints that were -- some of which were new  
20               that needed to be evaluated.

21      Q.       Now, you mentioned that it was almost destined to  
22               fail when the patient starts with Dr. Spoljaric  
23               and the patient hasn't been told of the blood,  
24               nor has Dr. Spoljaric had that flagged to him.  
25               Am I fairly paraphrasing your statement?

1 A. Yes.

2 Q. But you did go on and criticize in one of your  
3 reports Dr. Spoljaric for not picking up on this  
4 in the records, right?

5 A. Yes. It is my opinion, as I stated in my other  
6 report, that Dr. Spoljaric had an independent  
7 duty, --

8 Q. To pick that up?

9 A. -- regardless of what he had been given by Dr.  
10 Cola or the patient, to get the old records and  
11 really just to look at the last office visit to  
12 see what had been done before the patient came to  
13 him. Right on the side panel it's got the 3 plus  
14 blood. It just jumps right off the page at you.

15 Q. So it's not a matter of Dr. Spoljaric has to  
16 study the records going back years, but the last  
17 visit he would have seen it?

18 A. Exactly.

19 Q. And if he would have seen that and followed up,  
20 are you going to express an opinion either way  
21 based on probability what difference that would  
22 have made if the diagnosis had been made, for  
23 example, in January, mid to late January?

24 A. That would have been good because that would have  
25 again allowed involvement before she went into

1 ultimate kidney failure and before she went into  
2 respiratory failure and got so desperately ill.  
3 But I can't give an opinion exactly of what the  
4 delay of those three months would have made.

5 Q. All right. Let me check my notes here. I think  
6 we're probably done.

7 Have you spoken to any of the  
8 physicians involved in the patient's care or any  
9 of these experts whose reports you mentioned?

10 A. I have not.

11 Q. Do you know, for example, Dr. Zizic, of him?

12 A. No.

13 Q. Have you done any research specifically for this  
14 case, Doctor?

15 A. No, my opinions are based on my own training and  
16 experience. I did look in my old Harrison's  
17 textbook, as I always do.

18 Q. What did you find helpful there? Anything?

19 A. No, it's consistent with what I've always been  
20 trained in, particularly under the finding of  
21 isolated blood in the urine, the list of serious  
22 conditions that it can mean.

23 Q. I noticed Dr. Spoljaric got a chest x-ray. I  
24 can't remember if it was the first or second  
25 visit. Do you remember that?

1 A. Yes.

2 Q. And I think it was normal. Is that your  
3 understanding?

4 A. I agree.

5 Q. Does that give us any lead on whether she had  
6 Wegener's or not at that point?

7 A. Unfortunately it doesn't because, again, it can  
8 go from organ to organ. Certainly it would be  
9 suggestive that there was no involvement of the  
10 lung as of the time that that x-ray was taken,  
11 which was December 31st, 1997.

12 Q. And one other question of what Dr. Spoljaric  
13 found. He found the significantly increased sed  
14 rate, right? Do you agree? I think it was 51.

15 A. I agree with that.

16 Q. That's fairly high?

17 A. It is.

18 Q. And prospectively looking at it then, can you  
19 tell what that should have led Dr. Spoljaric just  
20 in that alone to have done or to not have done?

21 A. As an isolated finding, no.

22 Q. I just wanted to ask you your charges for review  
23 and then coming to trial and depositions.

24 A. It's all the same. I charge \$275 per hour.

25 Q. Door-to-door pretty much?

1 A. Pretty much.

2 Q. For the time at trial?

3 A. Yes.

4 Q. Fair enough. I'm looking for one other thing  
5 here and I don't think I brought it.

6 MR. MISHKIND: Can I help you with  
7 anything?

8 MR. FRASURE: No, it's my notes.  
9 That's all.

10 THE WITNESS: Thank you very much.

11 (Whereupon, signature was not waived  
12 by the witness.)

13 - - - - -

14 (Deposition concluded at 4:20 p.m.)

15 - - - - -

16

17

18

19

20

21

22

23

24

25

## W I T N E S S   C E R T I F I C A T E

I, H. Morgenstern-Clarren, M.D., do  
hereby certify that I have read the foregoing  
deposition taken on October 4, 2000, in the case  
of Vickie Miglore, et al. versus Dr. David Cola,  
et al., consisting of fifty-two pages, and that  
said deposition constitutes a true and correct  
transcription of my testimony given at the  
specified time.

\_\_\_\_\_  
H. Morgenstern-Clarren, M.D.

Dated this \_\_\_\_\_ day of \_\_\_\_\_, 20\_\_\_\_.

Sworn to and subscribed before me this  
\_\_\_\_\_ day of \_\_\_\_\_, 20\_\_\_\_.

\_\_\_\_\_  
Notary Public

My commission expires \_\_\_\_\_.

- - - - -

## C E R T I F I C A T E

STATE OF OHIO )  
 ) SS  
SUMMIT COUNTY )

I, Christine Leisure, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named Witness, **H. MORGENSTERN-CLARREN, M.D.**, was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony given was by me reduced to Stenotypy and afterwards transcribed upon a computer, and that the foregoing is a true and correct transcription of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio, on this 7th day of October, 2000.

*Christine Leisure, RPR (Rodriguez)*  
Christine Leisure, RPR & Notary Public  
My commission expires April 1, 2002.