

Page 1

STATE OF OHIO  
COUNTY OF CUYAHOGA }

IN THE COURT OF COMMON PLEAS

Angelo Priviterd, #321436  
Executor, Judge Daniel Corrigan

vs.  
Cleveland Clinic Foundation,  
Defendant.

DEPOSITION OF  
MICHAEL J. MURRAY, M.D., Ph.D.,

Taken February 9, 1999  
Commencing at 11:07 A.M.

REPORTED BY: Janet D. Winberg, RPR

Page 3

I N D E X

THE WITNESS	EXAMINED BY	PAGE
Dr. Murray	Mr. Lancione	4

RECEIVED  
FEB 16 1999

Page 2

Deposition of MICHAEL J. MURRAY, M.D., Ph.D.,  
taken on the 9th day of February, 1999, commencing  
at 11:07 A.M., at the Mayo Clinic, Siebens  
Building, Rochester, Minnesota, before Janet D.  
Winberg, Registered Professional Reporter and  
Notary Public in and for the County of Goodhue and  
State of Minnesota.

\* \* \*

APPEARANCES

On Behalf of the Executor:  
John G. Lancione  
Lancione & Simon  
1300 East Ninth Street  
1717 Bond Court Building  
Cleveland, Ohio 44114-1503

On Behalf of the Defendant:  
Steven J. Hupp  
Bonezzi, Switzer, Murphy &  
Polito Co. L.P.A.  
Leader Building, Suite 1400  
526 Superior Avenue  
Cleveland, Ohio 44114-1491

NOTE: The original deposition transcript will be  
filed with ATTORNEY LANCIONE, as the taking party.

Page 4

PROCEEDINGS  
(Witness sworn.)  
MICHAEL J. MURRAY, M.D., Ph.D.,  
called as a witness, being first duly sworn,  
was examined and testified as follows:

EXAMINATION

BY MR. LANCIONE:

Q. Would you state your full name for the record,  
please?

A. Michael J. -- James Murray, M-U-R-R-A-Y.

Q. And I've been handed a CV, Dr. Murray, and would  
you take a look at that and tell me if it's  
up-to-date?

A. (Reviewing document.)  
Six months old, but yes.

Q. Anything that should be added that is of any  
significance here?

A. Probably not.

Q. Okay. What is your present capacity here at the  
Mayo Clinic?

A. I'm a consultant in the Department of  
Anesthesiology and an associate professor in the  
Mayo Medical School.

Q. When you say a consultant in the Department of

1 Anesthesiology what does that mean? What do you  
2 do?  
3 A. Staff anesthesiologist.  
4 Q Okay, And how long has that been true?  
5 A I came on staff in 1986. So I've been a staff  
6 anesthesiologist here for the last twelve, thirteen  
7 years.  
8 Q. How many staff anesthesiologists do they have here  
9 at the Mayo Clinic?  
10 A. Sixty or seventy.  
11 Q And is there any breakdown of specialty fields?  
12 A. Yes, there are.  
13 Q And what are those?  
14 A. In the operating room we have, in essence, four  
15 major groups. Outside of the operating rooms  
16 probably ~~three~~ major groups.  
17 Q. What are those?  
18 A. In the operating rooms cardiovascular thoracic,  
19 orthopedic, neurosurgical, multi-specialty we call  
20 them.  
21 Outside of the operating rooms, the pain  
22 group, the ICU group, and the OB group.  
23 Q. Okay. How long has that system been in existence,  
24 for a great deal of time?  
25 A. A great deal of time. Ever since I've been here.

1 Q Okay. Who is in charge of the Anesthesia  
2 Department, if there's one person who is in charge  
3 of everything?  
4 A. That's Duane Rorie, R-O-R-I-E.  
5 Q. And who are the heads of the various sections of  
6 the departments?  
7 A. Right now at St. Mary's Hospital in Cardiovascular  
8 Thoracic it's Martin Abel. In Orthopedics it would  
9 be Beth Elliott. In Multi-specialty it would be  
10 David Danielson. In Neurosurgical Anesthesia it's  
11 Margaret Weglinski.  
12 In the Pain Clinic, pain group, it's Jim  
13 Phillips. In the ICU group it's Barry Harrison.  
14 And in the OB group it's Gary Vasdev, V-A-S-D-E-V.  
15 Q. Do you also have a residency program here?  
16 A Yes, we do.  
17 Q Approximately how many residents?  
18 A Same number of residents, approximately sixty to  
19 seventy.  
20 Q And how about certified nursing anesthetists, do  
21 you have those here, too?  
22 A. Yes, we do.  
23 Q Okay.  
24 A. I'm thinking -- I'm thinking eighty to a hundred  
25 staff nurse anesthetists. And probably forty to

1 ~~sixty~~ student nurse anesthetists.  
2 Q. What's the difference between --  
3 A. I mean a student is someone in training. It would  
4 be the equivalent of a resident getting experience.  
5 Q. To be a certified registered nurse anesthetist?  
6 A. To be a certified registered nurse anesthetist,  
7 yes.  
8 Q. Do the doctors -- do the anesthesiologists on the  
9 staff belong to one or more of the sub-specialty  
10 groups or do --  
11 A. Correct.  
12 They --  
13 Q. -- they pretty much --  
14 Go ahead.  
15 A. Yes. We belong -- we typically belong to more  
16 than -- one or more, and then we also will rotate  
17 to different groups.  
18 Q. What groups do you belong to?  
19 A. I belong to the critical care group, the ICU  
20 group. The cardiovascular thoracic group, and the  
21 research group.  
22 Q. Okay. Tell me what you -- how you function in each  
23 of those groups.  
24 A. In the ICU group I cover a thoracic vascular ICU.  
25 And also cover a neurosurgical ICU that also admits

1 the orthopedic patients.  
2 And in the ORs I do cardiac, thoracic, and  
3 vascular cases --  
4 Q. Okay.  
5 A. -- as part of that group.  
6 Q. Okay. What about the research?  
7 A. Um...  
8 Q. Did you say you belong to research, too?  
9 A. Correct. I have had NI support intermittently in  
10 the past. I currently do -- it's related to both  
11 issues arising in the operating room and in the  
12 intensive care units.  
13 Q. What kind of issues?  
14 A. For the Intensive Care Unit it would be summarized  
15 as nutrition issues, sepsis, lung injury.  
16 And in the OR issue it would be mechanisms of  
17 action of anesthesia and spinal cord damage.  
18 Q. Spinal cord damage relating to cardiovascular  
19 surgery?  
20 A. Surgical procedures.  
21 Q. All surgical procedures?  
22 A. Uh-huh. Yes.  
23 Q. Okay. Can you take me through, if there is such a  
24 thing, as an average kind of a week for you in --  
25 in performing services in your various capacities?

1 I mean do you have days where you do -- anesthesia  
 2 days where you do critical care service, and --  
 3 A. Typically we would --  
 4 Q. Could you possibly do that?  
 5 A. -- we would do it in a block of time. So for seven  
 6 weeks I would be in the ICU. And for six weeks I  
 7 would be in the operating rooms.  
 8 Q. Okay. The times when you are in the ICU, tell me  
 9 about that.  
 10 A. I just finished a week in the ICU, so start very  
 11 early in the morning. Work till very late at  
 12 night. And if things are quiet, will go home and  
 13 sleep a couple hours and come back. Do that for  
 14 seven days. And then switch, get a break.  
 15 Q. What do you mean, switch and get a break?  
 16 A. Have someone else take over the service. And then  
 17 I get to spend some downtime to catch up.  
 18 Q. And what do you mean by that? You don't work as a  
 19 physician, you -- you --  
 20 A. Well, I will do other things. I would cover -- if  
 21 that were the cardiothoracic ICU, then the next  
 22 week I would cover the neurosurgical ICU, which  
 23 wouldn't be quite as busy. And then the third week  
 24 is -- which is what I'm doing now, I'm covering the  
 25 nutritional service, which is also fairly easy.

1 Now next week I go back to the operating  
 2 rooms.  
 3 Q. And when you're in the operating rooms tell me  
 4 about your week. When you talk about a week,  
 5 you're talking about seven days straight?  
 6 A. Correct.  
 7 It will start at 7:00 o'clock in the  
 8 morning -- I mean in the operating rooms. I'll  
 9 usually come in earlier, but at 7:00 a.m. I'll go  
 10 in the operating rooms. And I know where I'm  
 11 assigned. Review anesthetic records, visit with  
 12 patients. Discuss the anesthetic with the other  
 13 members of the anesthesia team. And at Mayo we  
 14 start our cases at 07:45. So at 07:45 I would  
 15 start bringing patients into the room for  
 16 anesthesia.  
 17 Q. Okay. How many cases would you have to supervise?  
 18 Do you have supervisory responsibilities for --  
 19 A. Correct.  
 20 Q. -- more than one case at a time?  
 21 A. Yes.  
 22 Q. What is the policy here at Mayo Clinic?  
 23 A. One to two, one to three. So I would either have  
 24 two or three rooms covered.  
 25 Q. And would that -- would they be in close proximity

1 to one another?  
 2 A. Next-door to one another typically.  
 3 Q. And who -- what would you have as -- what would the  
 4 anesthesia team consist of in those three rooms?  
 5 A. It would be either a resident, CRNA, or an SRNA. A  
 6 student nurse anesthetist.  
 7 Q. When you're supervising a case do you start the  
 8 case with one of the other members of the team?  
 9 A. Yes.  
 10 Q. Each case --  
 11 A. Yes.  
 12 Q. -- separately?  
 13 A. Yes.  
 14 Q. And then are you available within seconds if  
 15 someone needs you in that area?  
 16 A. Yes.  
 17 Q. In other words, you either rotate through the rooms  
 18 and visit and observe, or you're outside of the  
 19 rooms available immediately?  
 20 A. Yes.  
 21 Q. Is that correct?  
 22 A. Correct.  
 23 Q. When you're in the operating rooms -- withdraw  
 24 that.  
 25 How much time would you spend in a year, for

1 example, doing these weekly rotations as a staff  
 2 anesthesiologist in operating rooms? Half the  
 3 time? 25%, 50 --  
 4 A. Right now I'm 50/50.  
 5 Q. So it would be 50% in operating rooms and 50% with  
 6 other responsibilities?  
 7 A. No. 50% operating rooms, 50% ICU.  
 8 Q. Okay. And that would be on the six- or seven-week  
 9 rotations?  
 10 A. Correct.  
 11 Q. Year-round?  
 12 A. Uh-huh.  
 13 Q. Seven days a week?  
 14 A. In the ICU it's a seven-day rotation. In the  
 15 operating rooms it's five days except for weekend  
 16 calls.  
 17 Q. Of the time that you spend in the operating rooms,  
 18 how much time do you spend in cardiovascular  
 19 thoracic surgery?  
 20 A. The majority of my time.  
 21 Q. 50, 60, 70%?  
 22 A. Oh. At least 75%, yes.  
 23 Q. At least 75%?  
 24 A. Uh-huh.  
 25 Q. How long has that been true?

Page 13

Page 15

1 A. Since 1986, when I came on staff.  
 2 Q. Okay. What particular service would be responsible  
 3 for doing scoliosis surgery in this hospital?  
 4 Would it be neurosurgery or orthopedic surgery?  
 5 A. Both.  
 6 Q. Both?  
 7 A. They work together commonly.  
 8 Q. Do you have surgeons that are members of the  
 9 scoliosis society here that perform surgery?  
 10 A. I don't know that. I don't know that. I would  
 11 assume so, but I don't know that.  
 12 Q. Okay. Do they have a section of anesthesia that  
 13 does pediatric anesthesia?  
 14 A. Yes.  
 15 Q. Is it named as that, or is it a --  
 16 A. There's a pediatric group that does it. Typically  
 17 in a lot of places all the pediatrics is done in  
 18 one section. If we have these four major groups  
 19 lined up side-by-side, what our goal would be on  
 20 the perpendicular, all those rooms would be  
 21 dedicated to pediatrics. So the pediatric heart  
 22 surgeons, though they work in the heart corridor,  
 23 are on the end that would be -- like the pediatric  
 24 corridor. And then in the orthopedics it would be  
 25 the same. And multi-specialty would be the same.

Page 14

1 Neurosurgery would be the same. That's the goal.  
 2 I don't **think** we've ever realized it yet.  
 3 Q. Okay. So that the specialists that -- you know,  
 4 the surgeries that do pediatric cases would tend to  
 5 be grouped together, and the anesthesiologists  
 6 that -- that have a specialty interest and practice  
 7 in pediatric anesthesia would be working on their  
 8 cases for the most part, if they could?  
 9 A. Yes.  
 10 Q. If it would work out?  
 11 A. If they could that would be --  
 12 Q. Be the ideal?  
 13 A. Correct.  
 14 Q. Do you know what percentage of scoliosis surgery  
 15 deals with pediatric cases versus adults in this  
 16 hospital?  
 17 A. No, I do not. I assume, since we have one or two  
 18 pediatric -- one or two pediatric spine surgeons,  
 19 that it would be maybe 30, 40%. But I don't know  
 20 that for sure. I haven't looked at the numbers.  
 21 Q. You would think that adult scoliosis cases would  
 22 predominate over pediatric?  
 23 A. I don't know --  
 24 Q. If you don't know, that's fine.  
 25 A. I don't know that. I mean it's my bias because

1 that's all I see.  
 2 Q. Are the scoliosis surgery cases handled primarily  
 3 by anesthesiologists that are in the orthopedic or  
 4 neurosurgical specialties?  
 5 A. Primarily, yes.  
 6 Q. Do you know how many cases that they see in a month  
 7 or a year here of adult scoliosis surgery?  
 8 A. No, I do not. I could find out, but I don't know  
 9 Q. Those kind of records are kept here at Mayo?  
 10 A. I'm sure they are.  
 11 Q. And does the Anesthesia Department keep records of  
 12 how many various types of cases are performed?  
 13 A. Yes. Yes.  
 14 Q. In other words, if you wanted to look at your  
 15 cardiovascular cases, you could probably, through  
 16 the computer system, find them and document them?  
 17 A. Hopefully, yes. Not terribly accurately, but yes.  
 18 Q. Okay. How many scoliosis surgery cases do you work  
 19 on in a month, if you have some average?  
 20 A. In a month. Average, over several years, -- in a  
 21 month I would say five to ~~ten~~.  
 22 Q. And are these all adult cases?  
 23 A. Yes.  
 24 Q. And do you know whether or not they're multiple  
 25 vertebrae cases? And what it might be? Whether

Page 16

1 they're five vertebrae? **Six?** Eight? Ten?  
 2 A. By and large they're -- my impression they're more  
 3 than five. I don't count, per se.  
 4 Q. You say you have two spine surgeons here?  
 5 A. I said two pediatric -- I think one or two  
 6 pediatric spine surgeons. I think we have -- I  
 7 think we have five spine surgeons.  
 8 Q. Total, including pediatric? **Or** five --  
 9 A. No, I think that would be total. So it's Currier,  
 10 Yaszemski. Atkinson. Rudy just retired, I think.  
 11 I think there's a new one on the staff who I don't  
 12 know yet.  
 13 Q. When you say you work on -- what did you say, five  
 14 to ~~ten~~ a month?  
 15 A. Uh-huh.  
 16 Q. Do you know how many they might do?  
 17 A. I could get the numbers for you. I don't know.  
 18 Q. Well, if the orthopedic and neurosurgical  
 19 anesthesia group staff people primarily do these,  
 20 then I'm wondering how many cases they might be  
 21 doing, since you, who aren't in those groups, are  
 22 doing five to ten?  
 23 A. No. I would see them in the Neurosurgical ICU.  
 24 And so all of them filter up to ICU, so that's  
 25 primarily where I would see them.

Page 17

Page 19

1 Q. I see. Well, how many would you be the hands-on  
 2 staff anesthesiologist during the surgery?  
 3 A. Zero to one probably. But that will fluctuate. In  
 4 the next six weeks I'm doing orthopedics, so I'll  
 5 have a lot more.  
 6 Q. What do you mean you'll be doing orthopedics?  
 7 A. I've been assigned to the orthopedic order for the  
 8 next six weeks. Part of this rotation. We're  
 9 midway February, so... And we, as I said earlier,  
 10 we rotate into other corridors.  
 11 Q. How often do you get assigned to orthopedics?  
 12 A. I think the last time was probably three or four  
 13 years ago.  
 14 Q. Is that normal? Every three or four years you  
 15 might get assigned --  
 16 A. For me, yes.  
 17 Q. -- in the operating room, --  
 18 A. Correct.  
 19 Q. -- that is, as distinguished from the ICU?  
 20 A. That's correct.  
 21 Q. So you might see more cases then as a staff  
 22 anesthesiologist during that one week, or into --  
 23 A. It will be the full six weeks.  
 24 Q. Six weeks?  
 25 A. So I'll see more then. Otherwise, unless I'm in

1 and writing reports?  
 2 A. I've reviewed one other case for Yale University.  
 3 And obviously internally I've done some.  
 4 Q. All right. Have you -- did you do any work in the  
 5 past for the firm of --  
 6 A. No.  
 7 Q. -- either Jacobson, Maynard or Bonezzi, Switzer?  
 8 A. No.  
 9 Q. Okay.  
 10 A. The only cases outside of Mayo were both federal  
 11 government.  
 12 Q. Have you reviewed cases on behalf of  
 13 anesthesiologists outside of the Mayo Clinic?  
 14 A. This kind --  
 15 Q. In addition to this case?  
 16 A. This kind of review --  
 17 Q. Yes.  
 18 A. No.  
 19 Yale University would be the only other one  
 20 and that's pending.  
 21 Q. In your report, if you want to take a look at that.  
 22 A. I think I've got that here. Yes.  
 23 Q. You mentioned in the first paragraph what you had  
 24 reviewed. Have you reviewed anything in addition  
 25 to that which is contained in the first paragraph?

Page 18

1 the ICU I wouldn't see any unless I got called  
 2 over, which doesn't happen.  
 3 Q. Okay. Have you had your deposition taken before as  
 4 an expert witness?  
 5 A. Yes, I have.  
 6 Q. **On** how many occasions?  
 7 A. Four or five.  
 8 Q. Total, over the years?  
 9 A. Yes.  
 10 Q. Have you testified in court?  
 11 A. Yes, I have.  
 12 Q. How many times?  
 13 A. Three times.  
 14 Q. Where?  
 15 A. Austin, Texas. Minneapolis and St. Paul.  
 16 Q. All in medical malpractice cases?  
 17 A. Two were medical malpractice. One was a criminal  
 18 case against -- a U.S. Federal case against a  
 19 physician.  
 20 Q. That was in Texas?  
 21 A. No. That was --  
 22 Q. Here?  
 23 A. That was here. That was the one in Minneapolis.  
 24 Q. In addition to depositions and trial testimony have  
 25 you been engaged as an expert witness for review

7 about this.  
 8 A. (Reviewing documents.)  
 9 This being a three-page document?  
 10 Q. Yeah.  
 11 A. Okay.  
 12 (Reviewing document.)  
 13 Page one.  
 14 Q. Uh-huh.

Page 21

Page 23

1 Q. When you're talking about, in the second paragraph  
 2 of your report, "Mild hypotension and bleeding were  
 3 noted, both of which are fairly common with this  
 4 sort of operative procedure," are you talking about  
 5 the first page, or are you talking about the entire  
 6 report -- surgery?  
 7 A. Part of the second page, as well. Mild  
 8 hypotension, bleeding.  
 9 Q. Look at the first page with me for a second. The  
 10 Fentanyl drip, do you see where that is?  
 11 A. Yes.  
 12 Q. How are those numbers? What do they represent? In  
 13 other words, it looks like something was scratched  
 14 out and then it's cc.s per hour, I presume. Do you  
 15 know what that means?  
 16 A. I assume, also, it's cc.s or milliliters per hour.  
 17 Yes. And that's the rate on the pump. And that  
 18 doesn't necessarily mean that's what the patient  
 19 received. But, yes, the rate is dialed up and  
 20 down.  
 21 Q. And when it says eighty on that line what does that  
 22 mean?  
 23 A. That means that the pump is set to deliver -- oh,  
 24 yeah, it's not eighty cc.s. It should be  
 25 micrograms per hour. So I assume that's what was

Page 22

1 scratched out.  
 2 Q. Is that what it says under the scratches, if you  
 3 can make that out? Can you? Micrograms per  
 4 kilogram per hour? Isn't that the way it's  
 5 measured?  
 6 A. Well, we would do it in micrograms per hour. So I  
 7 don't know what they would do -- it looks as though  
 8 that it's cc.s. If that's the symbol for hours.  
 9 Cc.s per hour. But then they're using a  
 0 concentration we don't use, so I can only speculate  
 1 on that.  
 2 Q. Okay. Let's talk about the temperature of the  
 3 patient. Do you know how that would be measured?  
 4 How it would typically be measured?  
 5 A. On an esophageal probe. Nasal esophageal probe.  
 6 Either incorporated into the esophageal stethoscope  
 7 or on a nasal temperature probe. I mean that's how  
 8 we would measure it.  
 9 Q. Is the temperature of thirty-four degrees  
 0 centigrade a -- what would be classified as very  
 1 near to or as hypothermia?  
 2 A. Depending on the classification. Thirty-three to  
 3 thirty-four is mild hypothermia, yes.  
 4 Q. And is that a desirable temperature for this kind  
 5 of surgery?

1 A. In my opinion, yes.  
 2 Q. Why?  
 3 A. Spinal cord protective.  
 4 Q. Wasn't the -- wasn't somewhat of -- sensory  
 5 measurements being taken during the surgery?  
 6 A. Evoked potentials were --  
 7 Q. Evoked potentials?  
 8 A. -- were being monitored, I assume for that reason,  
 9 yes.  
 10 Q. When a patient is maintained at this temperature  
 11 are there any known complications from that?  
 12 A. There is speculation that patients are at increased  
 13 risk for infection. Increased risk for bleeding,  
 14 yes.  
 15 Q. Increased risk for coagulopathies?  
 16 A. Yes.  
 17 Q. Are you familiar with Dr. David J. Murray in Iowa?  
 18 Anesthesiologist?  
 19 A. David J.? Well, I know who he is. I haven't -- I  
 20 may have met him. I don't remember.  
 21 Q. Okay. Was there any blood replacement prior to  
 22 4:30 in the afternoon of this surgery? That would  
 23 be on page one.  
 24 A. 16:30?  
 25 Q. Uh-huh.

Page 24

1 A. It looks here as though there was one unit of  
 2 pack cells that was administered, yes.  
 3 Q. Do you know when that was administered?  
 4 A. From looking at these records, if I went back up  
 5 here I should be able to...  
 6 (Reviewing documents.)  
 7 No.  
 8 Q. The blood loss during that first period here on  
 9 page one is twelve hundred milliliters, isn't it?  
 10 A. (Reviewing document.)  
 11 Q. Estimated blood loss?  
 12 A. I see the twelve hundred. I'm not sure what that  
 13 is above it. It looks like almost twelve hundred  
 14 or fourteen hundred. Then slash twelve hundred,  
 15 so... It looks that way, yes.  
 16 Q. Uh-huh. The patient was given about eighty to one  
 17 hundred fifty cc.s of fluids during that period.  
 18 A. I'm sorry. Where --  
 19 Q. According to my calculations.  
 20 A. I don't see that, but...  
 21 Q. Well, one -- 12:00 o'clock there's a Ringer's?  
 22 A. Yes. A thousand.  
 23 Q. 12:30 there's two fifty normal saline?  
 24 A. Yes.  
 25 Q. 1:30 there's a thousand Ringer's?

Page 25	Page 27
<p>1 A. We're up to twenty-five hundred, yes.</p> <p>2 Q. 3:30 -- at 3:00 o'clock there's another thousand</p> <p>3 Ringer's?</p> <p>4 A. Okay.</p> <p>5 Q. 3:30 --</p> <p>6 A. Okay. Let me see. We're now up to three thousand</p> <p>7 two fifty.</p> <p>8 Q. Correct.</p> <p>9 A. That's correct.</p> <p>10 Q. Correct.</p> <p>11 3:30 there's another five hundred of normal</p> <p>12 saline.</p> <p>13 A. Okay. So 3750. Okay.</p> <p>14 Q. And 4:00 o'clock thousand normal saline.</p> <p>15 A. Okay. So 4750. All right.</p> <p>16 Q. And a thousand of Hespan?</p> <p>17 A. (No response.)</p> <p>18 Q. And a thousand of albumin?</p> <p>19 A. I'm sorry. Where's the Hespan?</p> <p>20 Q. (No response.)</p> <p>21 A. I see. Oh, are you taking that out as a separate</p> <p>22 number down below?</p> <p>23 Q. Yes.</p> <p>24 A. I don't know if that's correct.</p> <p>25 Q. Okay.</p>	<p>1 There might have been a fair amount in the</p> <p>2 bladder when they got there. There's no way to</p> <p>3 know.</p> <p>4 Q. On the -- well, let's go back to the -- to the</p> <p>5 lines that were put in. There were two 16-gauge</p> <p>6 lines?</p> <p>7 A. Yes.</p> <p>8 Q. And what were those being used for during that</p> <p>9 first hour, do you know? Does it indicate on there</p> <p>10 what they're being used for?</p> <p>11 A. They've got a right 16-gauge and a left 16-gauge</p> <p>12 that it looks as though she arrived in the</p> <p>13 operating room with. And they were used to</p> <p>14 administer the induction agent for the anesthetic</p> <p>15 and for the fluids.</p> <p>16 Q. And after the induction what was the -- looks like</p> <p>17 the left line was used to infuse fluids.</p> <p>18 A. Correct.</p> <p>19 Q. And the right was used for what, after the</p> <p>20 induction?</p> <p>21 A. That's scratched out. I can't see. I can't</p> <p>22 determine.</p> <p>23 Q. Doesn't it say LC one thousand under there?</p> <p>24 A. You may be right.</p> <p>25 Q. Is that used again at 3:00 o'clock for some --</p>
Page 26	Page 28
<p>1 And at 4:30 another five hundred of Hespan.</p> <p>2 A. Is that on the next page, or --</p> <p>3 Q. 4:30. Yeah.</p> <p>4 A. (Reviewing documents.)</p> <p>5 Q. I guess it's the first --</p> <p>6 A. Okay. Okay. Uh-huh. Yes. All right.</p> <p>7 So now we're up to -- we're up to 5250.</p> <p>8 Q. Uh-huh. What were we, five -- 5250?</p> <p>9 A. That's where I thought we were.</p> <p>10 Q. Okay. And what was the -- what was the reason for</p> <p>11 that -- those fluids being given during that</p> <p>12 four-hour period approximately?</p> <p>13 A. To replace blood loss. Insensible loss.</p> <p>14 Q. Does that serve to dilute the -- the blood of the</p> <p>15 patient?</p> <p>16 A. It can. I don't know that they were using</p> <p>17 dilutional or induced hypo -- you know, dilutional</p> <p>18 hypotension. But it can be. I don't -- I don't</p> <p>19 think that was what it was for, but I don't know</p> <p>20 that.</p> <p>21 Q. During this period the urine output was</p> <p>22 approximately a hundred cc.s an hour; is that</p> <p>23 correct?</p> <p>24 A. Urine four hundred, four hundred. Approximately,</p> <p>25 yes.</p>	<p>1 A. For another thousand, correct.</p> <p>2 Q. I believe in your report you indicate that you</p> <p>3 <i>think</i> that those two lines were adequate?</p> <p>4 A. Yes.</p> <p>5 Q. In your report, again the second paragraph, what is</p> <p>6 the mild hypotension that you're referring to?</p> <p>7 A. I <i>think</i> for me mild hypotension would be blood</p> <p>8 pressure systolic of eighty. So on page one</p> <p>9 that's -- that happens at -- in terms of -- blood</p> <p>10 pressure of eighty at 15:00. And at 16:00 her</p> <p>11 pressure has gone to eighty again.</p> <p>12 Q. And where is -- does it appear that the anesthesia</p> <p>13 team noted that there was bleeding, bleeding</p> <p>14 abnormalities, as you put it in your report?</p> <p>15 A. It does not.</p> <p>16 Q. You say there bleeding and blood pressure</p> <p>17 abnormalities were recognized by the anesthesia</p> <p>18 team and were treated appropriate with vasopressor</p> <p>19 agents and with volume resuscitation. And blood</p> <p>20 loss is treated by transfusing the patient. Where</p> <p>21 in this record is that happening, where these --</p> <p>22 A. Page one. Page two.</p> <p>23 Q. With one unit of blood on page one?</p> <p>24 A. Correct.</p> <p>25 Q. And what time on page two did they recognize</p>

Page 29

Page 31

1 bleeding abnormalities?

2 A I'm not sure exactly what time they recognized

3 bleeding abnormalities or transfusing by this many

4 units of blood on page two.

5 Q Well, they started transfusing a lot of units at

6 about 6:30, didn't they?

7 A 18:30, yes.

8 Q Would that be the time you believe that they

9 recognized --

10 A They gave a unit earlier, so I assume they gave the

11 unit for a reason. So the supposition is that

12 there was some bleeding. They gave a unit of --

13 Hemopack cells.

14 Q. They suddenly jump from giving one unit to

15 giving -- I don't know how many there are here,

16 it's hard to tell. But it's one, two, three, four,

17 five, six, seven, eight, nine, ten, -- twelve,

18 thirteen, fourteen units of blood.

19 A. Uh-huh. Yes.

20 Q. But prior to that time do you believe that the

21 urine output was abnormal? Twenty-six during one

22 hour, twenty-six during another hour. Basically a

23 total of a hundred during this whole period on this

24 page two?

25 A I'm sorry. The question again is do I --

1 Q. And you're saying that that bleeding from 8:30 to

2 the time of her arrest was due to a coagulopathy?

3 A. I don't know that. Could have been from the

4 surgical site. Obviously it was. But she could

5 have solely developed a coagulopathy, yes.

6 Q. What kind of a coagulopathy are you talking about,

7 Doctor?

8 A. There are multiple problems with coagulation that

9 you can develop secondary to disseminating

10 intravascular coagulation.

11 Q. That would be a clinical --

12 A. A clinical diagnosis.

13 Q. -- a clinical diagnosis because none of the tests

14 that were done could determine what -- whether this

15 was a DIC.

16 A. Correct. Tough to diagnose.

17 Q. And her PT and PTT were actually better than her

18 pre-ops at 6:49 P.M.. If her prior PT was 9.4, at

19 6:49 it was 12.1. Her prior PTT was 25.3 and at

20 eighteen -- sixteen -- at 6:49 it was 27.

21 A. Okay.

22 Q. Is that --

23 A. Are those the times that those --

24 Q. Yes.

25 A. -- were drawn, or they were recorded?

Page 30

Page 32

1 Q Was the urine output abnormally low?

2 A. For this kind of a case probably not.

3 Q. And what did it mean then, nothing?

4 A. Frommy --

5 Q. No clinical significance?

6 A. From my perspective, probably not, no.

7 Q. What about the NCO2?

8 A. Um...

9 Q. Went from 29- -- 2927, 2922, at 7:00 o'clock.

10 A. 1900 -- they either increased the ventilation, and

11 there's no indication that they did, or it could be

12 a manifestation of decreased CO2 production. Could

13 be a manifestation of altered cardiac output.

14 Q Hyperkalemia?

15 A Altered cardiac output could be secondary to

16 hyperkalemia, yes.

17 Q You say sometime after 7:00 o'clock she developed a

18 coagulopathy. When did she develop a coagulopathy?

19 A I can't pinpoint that with certainty.

20 Q Could it have been as late as after her cardiac

21 arrest?

22 A. After? In my opinion, no.

23 Q. Why not?

24 A. Because at 18:30 it looks as though she's bleeding

25 quite a bit. They're transfusing quite a bit.

1 Q. Well, they're in the laboratory's -- collected. I

2 think it was collected.

3 A. Well, I have -- this is occurring on the 6th of

4 December, on the -- 1995; correct? What I have is

5 a Fibrinogen of 154 at 17:13.

6 Q. That's at 18:49, I *think*.

7 A. You're correct. 18:49.

8 Q. That's when the PT and the PTT were done and the

9 indirect.

10 I'm just saying those numbers would be

11 inconsistent with a DIC, wouldn't they?

12 A. The Fibrinogen would not be.

13 Q Fibrinogen would not be?

14 A. I mean it would be consistent with, yes.

15 Q. It's in the range? It's certainly not diagnostic

16 of DIC?

17 A. No. I think diagnosing DIC is quite difficult.

18 Q. There's also dilutional coagulopathies, --

19 A. Correct.

20 Q. -- aren't there?

21 A. (Nodding.)

22 Q. And DIC can -- or is usually caused by something,

23 isn't it? It just does not come about

24 independently of -- of --

25 A. That's correct.



1 Q. -- of a clinical condition?

2 A. Correct.

3 Q There has been literature to the effect that DIC

4 has sometimes been caused by the use of scavanged

5 blood in back surgery where there's a lot of

6 trauma?

7 A. Is there? I'm not aware of that.

8 Q. All right. I just...

9 Shock can cause DIC?

10 A Yes. Yes.

11 Q Massive transfusions can cause DIC?

12 A. I'm not familiar with that, per se.

13 Q. Metabolic acidosis can cause DIC?

14 A. Could, yes. Associated with massive shock, though.

15 Q. You cannot be any more definite with your opinion

16 as to when the coagulopathy developed than what

17 you've already told us?

18 A. Not with that sort of clinical diagnosis, no.

19 Q. How many patients have exsanguinated, if I can use

20 that term, such as Mrs. Privitera did, during

21 scoliosis surgery here at the Mayo Clinic?

22 A. I don't know those numbers, per se.

23 Q. When you say that they treated this blood loss

24 adequately, what is the treatment of choice for

25 a -- the kind of bleeding that was going on here?

1 What kind of fluid resuscitation should -- should

2 be done, in your opinion?

3 A. The combination of -- in my opinion, crystalloid,

4 colloid, and blood products.

5 Q. Fresh frozen plasma?

6 A. At some point in time you'd cross that, yes.

7 Q. In the cases that you've been familiar with what is

8 the estimated blood loss in these kind of cases

9 such as we're talking about here?

0 A. Liters.

1 Are we talking about scoliosis surgery or

2 DIC?

3 Q. Scoliosis surgery.

4 A. Scoliosis surgery, a couple liters probably.

5 Q. Are you familiar with studies dealing with DIC

6 during surgery for scoliosis in the literature?

7 A. No.

8 Q. In your third paragraph you say that the patient

9 subsequently developed a coagulopathy and then you

10 said, "This ultimately led to metabolic acidosis,

11 hyperkalemia, continued bleeding, and cardiac

12 arrest."

13 A. Uh-huh.

14 Q. So you're saying that she developed a coagulopathy

15 and that that caused the metabolic acidosis, the

1 hyperkalemia, and the continued bleeding and

2 cardiac arrest"; is that the causational chain that

3 you're saying existed?

4 A. Yes.

5 Q. Could it be the other way around? The metabolic

6 acidosis, the hyperkalemia, could have caused the

7 coagulopathy?

8 A. Could it have been the other way around?

9 Q. Yes.

10 A. There's a possibility of that, yes.

11 Q. Back at 8:00 o'clock she had a pH of 7.29 and a

12 base excess of minus six. Was that evidence of

13 metabolic acidosis?

14 A. Yes.

15 Q. And was the treatment for that to increase the

16 volume and increase the blood products?

17 A. It looks as though she was given blood throughout

18 all that period, yes.

19 Q. And that was to treat these conditions, wasn't it?

20 A. The composite -- the net sum of all those things

21 probably, yes.

22 Q. And what did the Fibrinogen level of 154 indicate?

23 A. The Fibrinogen was below the normal limits.

24 Q. And how do you treat that as an anesthesiologist?

25 A. You can give fresh frozen. You can give

1 cryoprecipitate.

2 Q. And then at 8:30 her pH dropped further to 7.2 and

3 her base excess was minus ten, so that the

4 metabolic acidosis was getting worse?

5 A. Correct.

6 Q. And about that same time they were loading her up

7 with Neo-Syneprine between 8:00 and 9:00.

8 A. Correct.

9 Q. And that was to do what?

0 A. Raise the blood pressure.

1 Q. By what mechanism?

2 A. It's a vasoconstrictive agent.

3 Q. And her electrolytes were -- her calcium was also

4 abnormal, was it not?

5 A. (Reviewing documents.)

6 Q. All the way back at 7:00 o'clock, approximately?

7 A. Yeah, I'd to have know if that was -- do you know

8 if that's ionized or whole?

9 Q. Let's see. Calcium ion at 17:20.

0 A. Yes.

1 Q. .64.

2 A. That's not how we measure our ionized calcium, so

3 I... It is low by their standards, yes.

4 Q. What does it mean when glucose for -- whole blood

5 glucose measurement is substantially elevated? Is

Page 37

Page 39

1 that another metabolic manifestation of metabolic  
 2 abnormality?  
 3 A. It's a manifestation of stress, yes.  
 4 Q. On page two of your report you're saying that the  
 5 coagulopathy during the course of this kind of an  
 6 operation is a known complication, one that carries  
 7 a considerable risk, 75% mortality risk?  
 8 A. In my experience, yes.  
 9 Q. How many cases have you seen this death from a  
 10 coagulopathy developing in this kind of an  
 11 operation, scoliosis surgery?  
 12 A. Scoliosis surgery, no.  
 13 Q. This is in other types of surgery?  
 14 A. This is in DIC, yes.  
 15 Q. In cardiovascular surgery?  
 16 A. In DIC, yes.  
 17 Q. But you've never seen a case in -- yourself  
 18 personally in scoliosis surgery?  
 19 A. Not that I recall.  
 20 Q. Do you know what the statistics are in the  
 21 literature, DIC and scoliosis surgery?  
 22 A. No, I do not.  
 23 Q. One more chance --  
 24 MR. LANCIONE: Off the record.  
 25 (Discussion had off the record.)

Page 38

1 BY MR. LANCIONE:  
 2 Q. In talking about the communications between the  
 3 anesthesia care team and the surgical team in this  
 4 case, what would you have expected during the  
 5 course of this case, starting at perhaps around  
 6 6:00 o'clock and going up to the time of the  
 7 arrest? You said that they don't write down the  
 8 conversations, which is true. But what would you  
 9 expect the anesthesia team to be communicating to  
 10 the surgical team during that period?  
 11 A. It's variable. If you have a working relationship  
 12 with the team, probably minimal conversation, at  
 13 least that is in our practice.  
 14 Q. Does there ever come a time when you tell the  
 15 surgeon that you need to have the surgery stopped  
 16 due to the blood loss and you need to catch up?  
 17 You need to pack off the wound and --  
 18 A. Actually --  
 19 Q. -- back out?  
 20 A. -- in my experience it's the opposite. The surgeon  
 21 says, "I'm going to pack off the wound or cross  
 22 clamp the bleeding vessel and let you guys catch  
 23 up."  
 24 Q. That's usually occurring in cardiovascular surgery,  
 25 then, I take it?

1 A. Well, where I do the majority of it, yes.  
 2 Q. You've read the reports of Dr. Berger, Dr. Stanley,  
 3 and Dr. Sieber from Mayo Clinic -- or from  
 4 Johns Hopkins?  
 5 A. Johns Hopkins?  
 6 Q. Have you?  
 7 A. Their reports.  
 8 Q. Yes.  
 9 A. Not their deposition, right.  
 10 Q. And is it fair to generalize that you disagree with  
 11 their opinions on the negligence and violation of  
 12 standards of care?  
 13 A. I'm sorry. The question was? Is it fair to assume  
 14 that I disagree with those opinions?  
 15 Q. Yes.  
 16 A. Yes.  
 17 Q. Do you recall that Dr. Ebrahim said that he was in  
 18 with -- in with the patient beginning at  
 19 8:00 o'clock, in his deposition?  
 20 A. May I look at the deposition?  
 21 Q. Just assume that he said that.  
 22 A. Okay.  
 23 Q. Assume for purposes of the question.  
 24 A. Yes. Okay.  
 25 Q. And during that time the patient was -- the

Page 40

1 patient's temperature was thirty-four degrees  
 2 centigrade.  
 3 A. Yes.  
 4 Q. Do you remember that?  
 5 A. Yes.  
 6 Q. Systolic blood pressure was in the eighties.  
 7 A. Well, 8:00 o'clock it was ninety, but okay.  
 8 Q. Urine output was 26 cc.s an hour?  
 9 A. Over those hours, yes. Twenty-six cc.s an hour.  
 10 Yes.  
 11 Q. The Fibrinogen, we've talked about that, 154.  
 12 pH of 7.2.  
 13 A. Yes.  
 14 Q. Base excess of six, going to ten. The NC02 going  
 15 from twenty-two to nineteen. Calcium going from  
 16 .64 to .90. Hemoglobin --  
 17 A. Calcium going from .6 to .9, so it came up a little  
 18 bit. Okay. Yes.  
 19 Q. Right. They were -- they were -- they were trying  
 20 to resuscitate the patient.  
 21 A. Uh-huh.  
 22 Q. Glucose was rising.  
 23 The A line was -- the A line wave was  
 24 dampening.  
 25 Did you read the nurse's deposition, the

Page 41

Page 43

1 nurse anesthetist's deposition about going and  
 2 fooling around with the A line on the patient's  
 3 wrist to try to find out what was wrong with it?  
 4 A. No, I haven't read that deposition.  
 5 That would be common.  
 6 Q What would be the various causes of that A line to  
 7 be dampening?  
 8 A Mechanical problems would be one thing. I mean  
 9 that's why they were -- that's why the nurse  
 10 anesthetist went to see if they could identify the  
 11 problem.  
 12 Q What are the other possibilities if that wasn't the  
 13 problem?  
 14 A. For the dampening?  
 15 Q. Uh-huh. What is it a sign of?  
 16 A. I didn't see on the record where that occurred.  
 17 Over there.  
 18 Well, it could be a bubble in the -- in the  
 19 line.  
 20 Q. Or what? What else? Nothing else that you can  
 21 think of?  
 22 A. I couldn't think of anything else --  
 23 Q. Okay.  
 24 A. -- that would cause dampening of the A line.  
 25 Q. Okay. Okay.

1  
 2 CERTIFICATE  
 3  
 4 STATE OF MINNESOTA }  
 5 COUNTY OF GOODHUE }  
 6  
 7 I hereby certify that I reported the  
 8 deposition of MICHAEL J. MURRAY, on the 9th day of  
 9 February, 1999, in Rochester, Minnesota, and that  
 10 the witness was by me, first duly sworn to tell the  
 11 truth;  
 12 That the testimony was transcribed by me  
 13 and is a true record of the testimony of the  
 14 witness;  
 15 That I am not a relative, or employee, or  
 16 attorney, or counsel, of any of the parties; or a  
 17 relative or employee of such attorney or counsel;  
 18 That I am not financially interested in the  
 19 action and have no contract with the parties,  
 20 attorneys, or persons with an interest in the  
 21 action that affects or has a substantial tendency  
 22 to affect my impartiality;  
 23 That the right to read and sign the  
 24 deposition by the witness was reserved.  
 25 WITNESS MY HAND AND SEAL THIS 15th day of  
 February, 1999.  
 Janet D. Winberg, RRR  
 Notary Public, Goodhue County, MN  
 My Commission Expires 1/31/2000.  
 {Seal}

Page 42

1 MR. LANCIONE: That's all I have, Doctor.  
 2 THE WITNESS: Thank you.  
 3 MR. HUPP: Doctor, do you want to read the  
 4 deposition or waive signature? It's your call. It  
 5 will be ordered.  
 6 THE WITNESS: I'm sorry?  
 7 MR. HUPP: The deposition will be ordered.  
 8 It's up to you, you can waive signature or you can  
 9 read the deposition, make any changes.  
 10 THE WITNESS: I would prefer to read.  
 11 (Concluded at 12:18 P.M.)  
 12 \*\*\*  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25