

7
State of Ohio,)
County of Cuyahoga) ss.

IN THE COURT OF COMMON PLEAS

MARY LOU MOTLEY,)

)
)
Plaintiff,)

vs.)

KAISER FOUNDATION HOSPITALS,)
et al.,)

)
)
Defendants.)

DOC 331
Case No. 250806
Brian J. Corrigan

THE DEPOSITION OF STANLEY H. NAHIGIAN, M.D.
----- 1994

The deposition of Stanley H. Nahigian, M.D.,
called by the Plaintiff for examination pursuant to the
Ohio Rules of Civil Procedure, taken before me, the
undersigned, Charles A. Cady, Registered Professional
Reporter and Notary Public within and for the State of
Ohio, taken at the offices of Stanley H. Nahigian,
M.D., 29001 Cedar Road, Cleveland, Ohio, commencing at
4:05 p.m., the day and date above set forth.

WANOUS REPORTING SERVICE

55 PUBLIC SQUARE
1225 ILLUMINATING BUILDING
CLEVELAND, OHIO 44113
(216) 881-9270

APPEARANCES:

On behalf of the Plaintiff:

J. Michael Monteleone, Esq.
William J. Shramek, Esq.
Jeffries, Kube, Forrest & Monteleone Co., LPA
1650 Midland Building
Cleveland, Ohio 44115

On behalf of the Defendant Kaiser Foundation
Hospitals:

Gary H. Goldwasser, Esq.
Reminger & Reminger Co., LPA
113 St. Clair Building - 7th Floor
Cleveland, Ohio 44114

On behalf of the Defendant St. Luke's Medical
Center and Henry Fabian, M.D.:

Victoria L. Vance, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115



STANLEY H. NAHIGIAN, M.D., DEPOSITION INDEX

EXAMINATION BY:		PAGE NO.
MR. MONTELEONE	3, 74
MR. GOLDWASSER	54
MS. VANCE	67

EXHIBIT NO.

1-2	27
3	76

1
□

1 STANLEY H. NAHIGIAN, M.D.
2 of lawful age, called by the Plaintiff for
3 examination pursuant to the Ohio Rules of Civil
4 Procedure, having been first duly sworn, as
5 hereinafter certified, was examined and
6 testified as follows:

7 MR. MONTELEONE: Your Honor, at
8 this time the plaintiffs will call as their next
9 witness Dr. Stanley Nahigian.

10 EXAMINATION OF STANLEY H. NAHIGIAN, M.D.

11 BY MR. MONTELEONE:

12 Q Good afternoon, Doctor.

13 A Good afternoon.

14 Q Would you please introduce yourself to the
15 ladies and gentlemen of our jury?

16 a Yes. My name is Stanley Nahigian. I'm an
17 orthopedic surgeon with special interest in
18 surgery of the hand.

19 Q And what is your professional address, Doctor?

20 a I'm at 29001 Cedar Road, in Lyndhurst.

21 Q All right. And are we at your offices this
22 afternoon, --

23 A Yes.

24 Q -- Wednesday, May 25, 1994?

25 A Yes.

1 Q Doctor, is this where you see your patients?

2 A Yes, sir.

3 Q Would you be kind enough to please tell us --
4 you've identified that you are an orthopedic
5 surgeon. And I think in the common parlance
6 that would be known as a bone doctor?

7 A Yes.

8 Q You fix fractures. But you also have a
9 specialty in -- what is it, Doctor?

10 A In surgery of the hand, yes.

11 Q Surgery of the hand. Can you tell us, are you
12 licensed to practice medicine in the State of
13 Ohio?

14 A Yes, I am.

15 Q About how many years?

16 A Since 1957.

17 Q Before you tell us about your patient Mary
18 Motley, it would be helpful to the jury to know
19 a little bit about your professional background
20 and your training.

21 So would you please give us just a brief
22 idea starting with medical school and bring us
23 up-to-date, if you would?

24 A Yes. Yes. I graduated from Ohio State
25 University College of Medicine 1957, completed

1 five years of postgraduate training in
2 Cleveland, a rotating internship and four years
3 of orthopedic surgery, and then took a year of
4 postgraduate surgery and surgery of the hand in
5 Gothenburg, Sweden, under Professor Eric Moberg,
6 who at that time had a personal professorship of
7 surgery of the hand from the king of Sweden.

8 Q All right,

9 A And then subsequently returned to Cleveland. I
10 have privileges at most of the major hospitals
11 on the East Side and have an academic
12 appointment at the university as associate
13 professor of orthopedic surgery, at Case Western
14 Reserve. I'm a member of the American College
15 of Surgeons, the American Academy of Orthopedic
16 Surgery, the American Society for Surgery of the
17 Hand.

18 And in 1989, I think, I passed the test
19 for a -- it's the only certificate for
20 postgraduate education that's now sanctioned by
21 all three boards, and it's the certificate for
22 added competence for surgery of the hand. And
23 it's sanctioned by the American Academy of
24 Orthopedic Surgeons, the American College of
25 Surgeons and Plastic Surgery Society. There are

1
[
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

about maybe in Cleveland about four or five who have completed that examination.

Q Out of all the doctors in Cleveland --

A Yes.

Q -- there's only four or five?

A Uh-huh. You have to have, to sit for it -- it was started in 1989. And to be eligible to even sit for the examination you have to have a certain amount of case experience, a stature in the community and have shown that you have had previous experience and contributed scientifically and other ways to even take the examination, all in the field of hand surgery.

Q All right. And you were invited to do this?

A Yes.

Q Dr. Nahigian, would you tell the members of the jury briefly what kind of surgery you do?

A Yes. My practice at this time now is essentially limited to surgery of the hand and related problems to the upper extremity. So that means if there's a problem that the hand is deficient because of something at the elbow or the shoulder or forearm, we take care of the entire upper extremity.

Q Where do you do most of your surgery these days,

1 1 Doctor?

2 A I do surgery both at St. Luke's Hospital,
3 Hillcrest Hospital, occasionally at Marymount
4 Hospital. I have privileges at St. Vincent
5 Charity Hospital but I don't operate there much
6 anymore.

7 Q Dr. Nahigian, how many days a week are you in
8 surgery, sir?

9 A Three days a week.

10 Q All right. And the other days, of course you
11 see --

12 A In the office.

13 Q In the office.

14 A I'm in the office Tuesday and Friday the entire
15 day.

16 Q All right. Dr. Nahigian, are you also involved
17 in the teaching of young doctors your specialty
18 of hand surgery?

19 A Yes. At the present time I have a resident at
20 St. Luke's Hospital in the orthopedic rotation
21 who is at the PGY-4 level. That means he's had
22 four years of surgical training. And he rotates
23 with me for six months to learn hand surgery.
24 And I also have residents rotating from
25 Brentwood Hospital who are osteopaths who rotate

1
[
2
with me for two months at a time at the PGY-3
level, a little more junior.

3 Q All right.

2
4 A In addition, I teach medical students, nurses
5 and other people.

6 Q Have you been doing this most of your
7 professional career?

8 A Yes.

9 Q All right. You told us about your special
10 certificate and accomplishments in hand surgery,

11 A Uh-huh.

12 Q I assume you also have your board certification?

13 A Yes, I have.

14 Q And as I understand it, not all doctors are, in
15 fact, board certified; is that true?

16 A That's true.

17 Q You need to be approved, so to speak, by your
18 peers and pass an examination, both oral and
19 written exam?

20 A Yes.

21 Q All right. Dr. Nahigian, let's talk about your
22 patient Mary Motley. We have your office
23 records. I have given copies of them to
24 attorneys for the defendant. And so that you
25 understand and the jury understands your

2
□
1 function here, --

2 A Yes.

3 Q -- both of the defendants, Drs. Anouchi and
4 Fabian have admitted that they were negligent in
5 placing a suture through the ulnar nerve of Mary
6 Motley and that it has caused injury to her arm
7 and her hand.

8 MR. GOLDWASSER: Objection.

9 MS. VANCE: objection.

10 Q So I will not be asking you questions about
11 their conduct or their standard of care.

12 What I would like to talk to you about and
13 have you explain to the jury has to do with
14 Mary's treatment by you and what you found her
15 condition to be when you first saw her. All
16 right?

17 A Yes.

18 Q All right. Please tell us --

19 MS. VANCE: Objection and
20 move to strike. Go ahead, Mike.

21 Q Please tell us when you first saw Mary Motley
22 and how she became your patient, Doctor?

23 A May I refer to my notes?

24 Q Certainly.

25 A Yes. I first examined her on July 14, 1992, at

2
□

1 the request of Dr. Yoel Anouchi. I served as a
2 consultant until recently to the Kaiser Hospital
3 insurance system, and I would see the tertiary,
4 or complicated, hand surgery cases. And Yoel
5 contacted me first in the hospital and then
6 subsequently had her see me because of this
7 problem with her ulnar nerve.

8 Q All right. So Dr. Anouchi himself asked you to
9 take over?

10 A Oh, yes, Yes.

11 Q All right. Tell us what you found out, what you
12 learned, when you saw Mary Motley for the first
13 time on July --

14 A Well, Yoel had told me -- excuse me --
15 beforehand what the problem was at the time of
16 the surgery.

17 Q Okay.

18 A A month or so before.

19 Q All right.

20 A And the urgency of it and why I should see her
21 and so forth.

22 Q Very good. And when --

23 A So I had sort of an introduction before she came
24 here.

25 Q All right. When you say Yoel that's Doctor --

2
□
1 A Anouchi. Dr. Anouchi

2 Q Dr. Anouchi's first name?

3 A Yes. Yes.

4 Q All right. What did Dr. Anouchi tell you was
5 the problem, the nature of the problem, that he
6 was asking you to see her for?

7 A Well, he told me about the problem in surgery in
8 which they had operated on her for an elbow
9 problem for a release of the tendons from her
10 elbow, which is a standard procedure for what we
11 call medial epicondylitis, or golfer's elbow.
12 And it's a standard operation that he performed.
13 It's well documented. There's nothing wrong
14 with the procedure. But someplace in the course
15 of the surgery, and I think he told me during
16 the closure, that the suture was placed around
17 the nerve, and it was not picked up until later
18 on.

19 Q All right. So during the first operation that
20 was done on June 4 of 1992, either Dr. Anouchi
21 or the resident, Dr. Fabian, --

22 A Yes.

23 Q -- put a suture inadvertently -- I should say
24 accidentally --

25 A Yes.

2
[
1 Q -- through the nerve in her elbow?

2 A Yes, and tied it.

3 Q And tied it up?

4 A Yes.

5 Q Okay. Go ahead, then. What else did you learn?

6 A Well, this caused her a severe amount of pain
7 that should be way out of proportion to what
8 would be from a normal release of the muscles.
9 Everybody who has that type of a major operation
10 has some major discomfort, but apparently they
11 were aware suddenly that her nerve was in
12 jeopardy and it wasn't just simple postoperative
13 pain, and they took her back to surgery again to
14 explore the nerve.

15 Q On June 19?

16 A On June 19, yes.

17 Q All right,

18 A At that time they found out, you know, what had
19 happened.

20 Q And what did they learn at that time, Doctor?

21 A Well, that there was a suture -- you'd have
22 to -- did you not refer to his operative note?
23 I think it's in there.

24 Q It is. And the jury will have those records
25 before them.

2
[]
1 A Okay. Well, anyway, you can refer to his note,
2 whatever they did. They released it and they
3 took the suture out. And then she still didn't
4 do well and she was having more trouble. The
5 biggest problem at the time when I saw her was
6 not only that there was damage to the nerve
7 hampering the function of her hand -- she had
8 what we call a claw hand deformity, which the
9 fingers of the -- and we have photographs to
10 document this -- but her biggest problem was
11 she's a coach and she uses her -- this is her
12 dominant hand.

3
13 And she could not move her elbow without
14 this severe shooting incapacitating pain which
15 would shoot down into her ring and little
16 fingers whenever she moved her elbow and
17 attempted a straightened position. The nerve
18 was being tethered. It was just like caught on
19 something and there would be excruciating pain.
20 If she stayed still it would be relatively
21 comfortable. But the minute she moved that
22 nerve, that elbow at all she could feel this
23 shooting pain. And she was just distraught.
24 And that was a bigger complaint than the
25 clawing.

3
[
1 Q All right. You mentioned that this was part of
2 the history you received.

3 And then you got a chance to see her for
4 the first time I believe on July 14, 1992; is
5 that correct?

6 A Yes.

7 Q All right. Did you conduct an examination,
8 Doctor?

9 a Oh, yes. Yes.

10 Q Tell us, would you please, what you found of
11 significance or important to you as a hand
12 surgeon?

13 A Yes.. I'll have to -- I'll read from my notes,
14 if you don't mind.

15 Q That's quite all right.

16 A As we talked about, I sort of knew what we were
17 going to find because of my discussions with Dr.
18 Anouchi. And she was a healthy, very athletic
19 woman. And she was in severe distress, I mean
20 just at wits end, way out of proportion to
21 someone who has a simple elbow problem. So you
22 know that nerve is being acutely pinched. I
23 mean this is a very serious problem.

24 And she had -- and I have marked it down.
25 She had very severe discomfort. She still had

3



1 clawing and evidence of what we call intrinsic
2 paralysis. That means the small muscles in her
3 hand which are innervated by the ulnar nerve
4 were all paralyzed. And that makes her hand
5 extremely weak, clumsy and out of balance.

6 She had profundus paralysis, That means
7 these muscles that bend the fingers were
8 paralyzed, particularly to the little and ring
9 finger. And this means that the nerve is **also**
10 in trouble.

11 And I took a -- wait a minute. Then the
12 biggest problem was that the elbow, she could
13 only move it from five to -- excuse me, from 15
14 to 55 degrees. That means she could only go
15 from this position to about this position, and
16 anything beyond that she had a severe amount of
17 pain emanating from the elbow.

18 I took an x-ray of the elbow just to make
19 sure there was no pathology within the elbow
20 joint itself. I mean you could always find out
21 if there was something else going on. And the
22 elbow was clean, the elbow joint itself. So we
23 knew all this trouble was coming because of the
24 scarring and the tethering of the nerve at the
25 elbow site,

3
[]
1 Q The ulnar nerve that had --

2 A Yes.

3 Q -- had this suture placed into it?

4 A Yes. The nerve -- I'll show you on this little
5 model here. The nerve -- this is a standard
6 elbow. Are you focused in on it? This is a
7 skeleton of the nerve -- of the elbow. Okay?
8 Can you see it?

9 Now, the nerve in the elbow, -- people say
P0 it's the only mistake mother nature made -- the
11 nerve goes behind this boney prominence and
12 comes down into the forearm. We'll show you
13 some pictures later on if you want it. And what
14 happened was, this is the area that was
15 released. It was operated on originally back in
16 early June. And then when they went back in the
17 second time, they had removed the bone to try to
18 decompress the nerve, but it was still stuck in
19 this mass of scar tissue right at this area.

20 So anytime that the elbow would move, it
21 would just pull the nerve and it would shoot the
22 pain down the arm. So she had this funny little
23 range of motion in this elbow. And the other
24 side had a full, pain-free range of motion. She
25 had no arthritis or anything. So.

3
1 Q You mean the other arm?

2 A The other arm.

3 Q Okay.

4 A The other elbow. I said the other elbow. Okay.

5 Now, a Tinel's sign, a strongly positive
6 Tinel's sign, which is a signal in which people
7 have had it -- if you hit your funny bone or any
8 other nerve, you feel this shooting pain that
9 goes down or shooting pins and needles that goes
10 over the nerve. That tells you the nerve is
11 embarrassed, it can be cut, it can be crushed,
12 it can be anything, caught in scar. It's all
13 the same sign. It was described about 100 years
14 ago by Dr. Tinel. And it means that there's
15 embarrassment of the nerve.

16 And with her it was excruciating. You
17 could barely touch it and just electrical shocks
18 would shoot down her hand. So you know there
19 was severe damage. And if I tried to flex the
20 elbow beyond 55 degrees, it went into her
21 fingers. She could feel it.

22 Q What is the normal range of motion?

23 A Oh, it should be 135. She should be able -- a
24 limber woman like her, she could put her
25 fingertips on her shoulder. This one, it would

3
4
1 hardly come up any further than that.

2 Q How about extending the arm?

3 A Same thing. She kept in this very short range,
4 Anywhere you went beyond that arc, the nerve.

5 Let me see. She said she had immediate
6 relief of the original exquisite pain when the
7 suture was in after they reexplored the elbow on
8 June 19, but she said there had been no
9 progressive improvement in the elbow symptoms
0 since the 19th and she could not increase her
11 range of motion. I mean even though they had
12 taken this bone away and had taken the suture
13 out, she still had this tethering effect of the
14 nerve hampering her elbow.

15 Q So when Dr. Anouchi and Dr. Fabian went back in
16 on June 19 of 1992 to see what was causing all
17 this problem and they found this suture that was
18 through the nerve, even though they had removed
19 it, she presented to you and she was still
20 having the problems that you have indicated?

21 A Oh, yes, with with the elbow. Yes.

22 Q All right.

23 A So she was not cut of the woods. She was some
24 better compared to June 4 but she was not well
25 at all.

4
□
1 Q All right.

2 A And I put her in a splint, and that made her
3 feel better. I put her in a protective splint
4 which just kept the elbow quiet. This was in no
5 way treating her. Now, she is, as I mentioned,
6 in the Kaiser system, so I have to get approval
7 from their physicians before I can take over the
8 case. So I had called Dr. Anouchi and I
9 discussed it with him and he said -- you know,
10 he gave her his approval, he said go ahead and
11 do it.

12 Q Okay. Now, you mentioned, Dr. Nahigian, that
13 this injury was to the nerve, the ulnar nerve,
14 in her dominant hand?

15 A Yes.

16 Q And I'm going to ask you if you would be kind
17 enough, please, first of all, before you show
18 the jury some medical illustrations so they can
19 see exactly where this suture went through the
20 nerve, is it of any significance to you as a
21 hand specialist, a hand surgeon, that the injury
22 was to the hand that she used predominantly, in
23 other words, her dominant hand?

24 A Yes. She was a coach and she had a very fine
25 woman's volleyball team. And she could not

4
[]
1 coach, she couldn't hit the ball, she couldn't
2 partake. Basically, her hand was completely
3 useless, not only because of the weakness and
4 the paralysis, because of the pain from the
5 elbow down. So basically a useless appendage.

6 Q All right. Do you have with you here a medical
7 drawing or illustration that will show the jury
8 the course of the ulnar nerve that would be of
9 some benefit to them in arriving at their
10 decision here?

11 A All right.

12 Q Do you have something in one of your medical --

13 A I have. I took a very nice atlas here which has
14 very clear pictures. I hope they project well.

15 This is the Frank Netter's book on the
16 Atlas of the Human Anatomy. These are -- Dr.
17 Netter was a physician and medical artist, who
18 recently died, who had these magnificent
19 pictures. Does this come through?

20 MR. MONTELEONE: Let's ask the
21 videographer if he can focus on that a little
22 better.

23 A These are two of the major nerves in the hand.
24 One is the median nerve on the right side. Do
25 you want to hold that back.

4
□
1 Q I'll hold that for you, Doctor. Do you want to
2 use this?

3 A I've got one.

4 Q You've got one? Good.

5 A All right. This is the ulnar nerve. Most
6 everyone knows where their ulnar nerve is
7 because they've hit it on their elbow and have
8 caused pain and numbness to go into the little
9 and ring finger, And this is what I discussed
10 before.

11 Nature put the nerve behind the bone. It
12 comes under, around this bone that I showed you
13 before, then comes into the muscles in the front
14 and then, of course, down along the heel of the
15 hand and goes to all the little muscles, except
16 for a few, into the palm.

17 And it is the main motor nerve, or the
18 muscle nerve, that goes into the muscles of the
19 hand. It also controls the flexion, basically,
20 of the ring and little fingers with the long
21 muscles there. It has nothing to do with the
22 index, long or other fingers as far as the long
23 tendons go. But all the small muscles are
24 innervated by this.

25 And it also has what we call cutaneous

4 1 invasion, where the area of numbness is on the
2 border of the hand along the little and half of
3 the ring finger and on the back, or what we call
4 the dorsal surface, of the hand. And this has
5 some variability. This is what we call the
6 typical pattern, but it can have more
7 distribution or less distribution. I mean the
8 good Lord makes a lot of variations, and here's
9 an example of the median nerve which you see on
10 the other which takes care of the other part of
11 the sensation of the hand. But this is what the
12 ulnar nerve typically covers.

13 Q Okay. Thank you.

14 A Is that helpful?

15 Q Yes, I think so.

16 A Okay- The area of entrapment or the pain, when
17 I was talking about Tinel's, was all right here.
18 There was nothing -- the rest of the nerve was
19 fine. All of her symptoms came from this area
20 where the incision was up near the elbow.

21 Q Is that where the suture had been placed?

22 A Yes.

23 Q All right. You make note in your medical
24 records regarding her that she had an ulnar
25 nerve palsy?

1 A Yes.

2 Q What does that mean in layman's language, Dr.
3 Nahigian?

4 A Well, palsy is another word for paralysis.
5 Basically, the nerve is not functioning at all.
6 Whether it's, as I mentioned, either cut,
7 crushed, damaged by infection or whatever, it is
8 nonoperational from the elbow down.

9 C All right. As I understand what you have told
10 us, this particular nerve in the arm has a
11 couple of functions. One is to provide
12 electrical impulses so that the muscles can
13 move?

14 A Yes. Correct.

15 Q And that's called its motor function?

16 A Yes.

17 Q And the other is a sensory, or a feeling,
18 function --

19 A Yes.

20 Q -- so that you can detect pain, hot, cold that
21 kind of thing?

22 A Yes. Yes.

23 Q So if a suture, or a stitch, is put through a
24 nerve, --

25 A Yes.

5
[
1 Q -- this obviously is going to cause some, as you
2 describe it, exquisite pain?

3 A If it's put through and tied, not just -- put
4 through, it's not too bad. I mean you don't
5 want to do it every day. But putting it through
6 and tying it is disaster.

7 Q All right. Doctor, will you tell us, please,
8 what you recommended to Mary Motley after you
9 saw her on that first visit of July 14, 1992?

P0 A Yes. I put her in a splint, as I mentioned, to
11 see how she would do and to be able to talk to
12 Dr. Anouchi and to see what -- you know, there
13 was always an outside chance that she would be
14 getting some better even by splinting it,
15 because she had had no splint on her arm from
16 the time she had left his office, whenever that
17 was, until I saw her here in July.

18 And I saw her about a week later to see if
19 there would be some dramatic improvement, hoping
20 that with rest and prevention of bumping and
21 what have you that the elbow would get -- excuse
22 me, the nerve would get some better. And I saw
23 her on July 21st, July 21.

24 And I said, "She has no change in her
25 Tinel sign. Did not get any appreciable relief

5
□
1 with the splinting." And she has a second
2 Tinel's, which means another area, essentially
3 in the same area, which means there is no
4 progression of the improvement or healing.
5 Tinel's helps us measure if the nerve is getting
6 better.

7 Q Now, Tinel was a --

8 A That's a sign. He's a neurologist that showed
9 this. It's a nonspecific test. You hit it, hit
10 the nerve, either with your finger or a small
11 hammer, and it sends the electrical shock over:
12 the distribution of the nerve.

13 Q And you were able to tell by performing this
14 test on her what her problem was?

15 A Yes. It was not -- if a nerve is going to get
16 better -- for instance, like, you know, when you
17 sit on your foot or crossed leg or you have a
18 paralysis, immediately you might have
19 essentially no use of the hand or fingers for a
20 few minutes, but within an hour or so or less
21 than that it gets better, so you know it's
22 progressing. And here we had it for over a week
23 and there was absolutely no improvement at all.
24 So we knew there was something that had to be
25 done.

5
[
1 And I said there may be some early
2 atrophy, which means that the muscles are
3 shrinking. And we advised her that we better
4 get after it. And so we made arrangements for
5 the surgery on July 23, '92.

6 Q All right. Before you tell us about that, I
7 noticed in your medical records that you made
8 reference to what you called a marked clawing of
9 her hand?

0 A Yes.

11 Q What was causing this, Dr. Nahigian?

12 A Clawing, that is the attitude that comes from
13 the paralysis of the nerve, the ulnar nerve,
14 That's a typical, classical position in which --
15 I think I took pictures of it which you can see
16 later on, she has -- in which the -- I think I
17 just demonstrated it a while ago -- in which the
18 index and middle finger can come out straight
19 but the little and ring finger remain in this
20 curled position.

21 Q And that's what you identify as a clawing?

22 A As clawing, yes.

23 Q And this --

24 A It gets in your way. The fingers will not go
25 out, they cannot extend out to the flat

5
□

1 position, they stay permanently this way, they
2 get in the palm.

3 Q And this was being caused by the injury to the
4 ulnar nerve --

5 A Yes. Yes.

6 Q -- with the suture that had been --

7 A Yes.

8 Q All right. Doctor, if you would be nice enough,
9 since we have slides there and no way to project
10 them for the jury to see here today, if we can
11 have these.

12 A Yes.

13 Q And we'll mark these Plaintiff's Exhibit Numbers
14 1 and 2. And we will have --

15 A They can be converted to prints or you can
16 project them.

17 Q We'll do that.

18 - - - - -

19 (Plaintiff's Deposition Exhibit Nos. I and 2
20 were marked for identification.)

21 - - - - -

22 Q Would you be kind enough to tell us first of
23 all, please, do they fairly and accurately
24 represent --

25 A Yes.

5
6
1 Q -- what the condition of her arm and her hand
2 was when you took those photographs on July 14,
3 1992?

4 A Yes. They're dated. And they're two colored
5 pictures. And this is all I could do because
6 she was in such discomfort that first day. We
7 only took two pictures. She said, I can't stand
8 it anymore." You like to make them do different
9 things and positions, but I could not do it.
10 And you'll see that her hand is sort of fixed in
11 one position. And the pictures show this
12 typical position of the fingers curled in. And
13 these are out straight but these two are stuck
14 in this position.

15 Q All right.

16 MR. MONTELEONE: We will mark
17 those as Plaintiff's Exhibits 1 and 2 and we'll
18 ask our court reporter to do that so we can use
19 them and the jury can see them themselves.

20 Q All right. So you rec --

21 MR. GOLDWASSER: Before you do
22 that, could I see those pictures, please?

23 THE WITNESS: Yes. Sure.

24 MR. MONTELEONE: Oh, certainly.

25 MR. GOLDWASSER: Thank you.

6
1 THE WITNESS: Here's a --

2 MR. GOLDWASSER: That's all
3 right, Doctor. I can just look at them.

4 THE WITNESS: Here.

5 MR. GOLDWASSER: Oh, you've got
6 a little gizmo there?

7 THE WITNESS: I've got a
8 little gizmo. You can really see them.

9 MR. GOLDWASSER: Thanks.

10 THE WITNESS: She can see
11 them, too. Hold it to the light. This one
12 shows the clawing a little better, I think.

13 Q All right, Dr. Nahigian.

14 A Yes. Excuse me.

15 Q While Mr. Goldwasser is looking at those
16 pictures.

17 You recommended that she have surgery and
18 she was admitted to St. Luke's Hospital --

19 A Yes.

20 Q -- July 23, 1992 under your care?

21 A Yes.

22 Q Would you tell us, please, what you did for her
23 when you admitted her to the hospital?

24 A Yes. This needed a general anesthetic.

25 Obviously, this is exquisitely painful. There's

1 no way this can be done with any kind of a local
2 or easy anesthetic. You need plenty of
3 relaxation.

4 And at that time we went back in and
5 explored the entire nerve. We used an operating
6 room microscope to make sure all the scarring
7 and any embarrassment of the nerve was clear.
8 You can't -- you have to have magnification
9 because this has been operated, remember, two
10 times. So there's going to be a lot of scar
11 there and we want to make sure we don't damage
12 the nerve any farther. So we used the
13 microscope.

14 And then to get the nerve out of this
15 bed -- you cannot put it back in the bed where
16 it was before. The nerve then has to be
17 transferred into a soft bed to allow it to heal.
18 So we had to move it way over to the median
19 nerve. I'll show you on this picture here. I
20 don't know if it will come through.

21 But you take the nerve from behind the
22 bone, resect some more bone. Dr. Anouchi had
23 already done some of it. We took some more.
24 And we moved that nerve from there all the way
25 over next to the median nerve, which is in a

6
1 nice, soft bed that's been untampered. And with
2 that the nerve lies under the muscle. We have
3 to divide the muscle, which you see here, cut it
4 and lengthen it a little bit and stitch it back
5 and put the nerve back into a new soft bed and
6 allow it to move and not be hampered anymore by
7 the scar.

8 That's a fairly major procedure and it
9 takes specialist knowledge on how much tension,
10 how much mobilization you can do, because you
11 can do more harm if you're not careful with it.

12 Q I notice according to the hospital records that
13 the surgery took over three and a half hours?

14 A Yes. Uh-huh.

15 Q Dr. Nahigian, it sounds like it was a fairly
16 extensive surgery?

17 A Oh, it is, yes.

18 Q And so that the jury is clear on this, the
19 reason you needed to do this surgery was because
20 of the damage that had been done to the ulnar
21 nerve when Drs. Anouchi and Fabian had operated
22 on this lady on June 4, 1992?

23 A Yes, that's the original problem. Then when
24 they reexplored her there's more scar. I mean
25 there was nothing done wrong the second time,

6
[]

1 but that made the job even more difficult from
2 my standpoint because there's going to be more
3 scar involved. They even did some bone work the
4 second time trying to get this part of the bone
5 away from the nerve hoping that would be
6 sufficient. And that causes more bleeding and
7 more scar. And so the surgery has to progress
8 very, very slowly. That's why **it's** three and a
9 half hours. You have to take your time.

P0 Q All right. You mention in your operative note
11 that you dictated for the official 'hospital
12 records that you could still see the indentation
13 in the ulnar nerve where Dr. Anouchi and Dr.
14 Fabian had put the suture accidentally into this
15 nerve?

16 A What paragraph are you looking at?

17 Q If you look at the third page of your operative
18 report, I believe that's where you'll find it,
19 Doctor. Can you find page 3 over there?

20 A I've got it.

21 a Okay. It's the second paragraph starting with,
22 "There was an area where the patient had --"

23 A "Had had a suture placed in the nerve," yes,
24 "just proximal to the cubital tunnel."

25 The cubital tunnel is just the name for

6
□
1 the tunnel where the nerve sits. And an
2 indentation was seen. And it was so marked that
3 an internal neurolysis had to be done with a
4 microscope.

5 And what would we do, what we mean by
6 internal neurolysis, that means we go right
7 within the substance of the nerve. And you have
a to do with a microscope control.

7
9 Q What does it indicate to you, Dr. Nahigian, as
10 an experienced hand surgeon, that you were still
11 able to see an indentation in the nerve from the
12 suture that had been placed there accidentally
13 by Drs. Fabian and Anouchi?

14 A What does that mean?

15 Q What does that indicate to you, when you can
16 still see the indentation in the nerve?

17 A Well, the nerve is still contracted. You take
18 the suture away but the -- it's like tying up
19 something with a rope and you take the rope off
20 but it leaves an indentation. The nerves are
21 very, very soft. They're like -- they have the
22 texture of spaghetti. And if you just squeeze
23 this spaghetti with a suture and squeeze it
24 down, it just cuts into this very soft material.

25 Now, to merely remove the suture, if

7
[]
1 you're lucky, it will bounce back up, it will
2 expand up. But this had not. This was now two
3 months later. Oh, no. A month, month and a
4 half later. And the nerve, you could still see
5 this, like a waist, like a corset effect, where
6 you squeeze the waist down tight. And then you
7 could see a bulge on either side of it.

8 So you have to then release that nerve in
9 that area, which you can do, gradually tease it
10 free and then allow this to expand. There's no
11 longer any suture effect but there's scar
12 effect. I worked on the scar.

13 Q Caused by the suture?

14 A Yes.

15 Q Okay. Dr. Nahigian, how could the damage to the
16 nerve up in the elbow cause this clawing defect
17 with her hand and the other paralysis you were
18 talking about? How does that happen?

19 A Well, I thought I just explained to you on the
20 nerve.

21 Q All right.

22 A Yes.

23 Q Maybe you did.

24 A Do you want to do it again?

25 Q Well, --

7
□
1 MR. GOLDWASSER: I think you did
2 an excellent job already.

3 Q Gary --

4 A Do you want **it** again for Mr. Goldwasser?

5 Q No. If Gary doesn't want to hear **it** again,
6 that's all right.

7 A All right.

8 Q I'm just trying to figure, if the nerve is up
9 here in the elbow, how does **it** --

10 A I'll show you. Here **it** goes. The nerve is a
11 long conduit, **it's** like a cable, and **it** goes
12 from the elbow all the way down. It has no
13 branches above the elbow. **All** the branches come
14 down in the forearm and **it** branches here into
15 the muscles that contract the fingers and then
16 **it** goes into all these small muscles within the
17 hand itself.

18 Q Okay. **All** right.

19 A Yes.

20 Q Very good.

21 A Yes.

22 Q Now, you discharged her from the hospital then?

23 A Yes.

24 Q All right. And did you see her after that, Dr.
25 Nahigian?

7
[]
1 A Yes. Okay. Yes. Now we have to follow along
2 to see how the improvement is going to come
3 along. And I saw her on the 20 -- excuse me,
4 the 31st of July, which is about a week later.
5 And I took her dressings down and took some of
6 the clips out. And she at that time had 30 to
7 40 degrees range of motion, which means she
8 could start to **move** and **she** had none of that
9 exquisite pain that she had before. But she
10 still had a complete ulnar palsy at this level.
11 The nerve had not recovered, obviously.

12 And she now had what we call a second
13 Tinel's starting to come, which means there's
14 going to be some recovery of the nerve beginning
15 to come in the forearm. She still had a claw
16 hand. And I gave her a splint to allow general
17 motion, bathing and let her do what she could
18 do. She's a very athletic woman, very good
19 muscles, and she could take care of herself.
20 And she had very good, what we call,
21 neuromuscular control, so we could trust her to
22 do so. Some people, they can't, you know, but
23 we felt she could.

24 Q Did she have normal use of her right hand?

25 A No. Not at all. Not at all. No.

7
□
1 Q All right.

2 A Anyway, so we wanted to start at that time with
3 what we call electrical stimulation. Now, what
4 happens is, if you damage a nerve like this, and
5 we don't have any idea when it's going to
6 recover or if it's going to recover, so you have
7 to go through what we call muscle stimulation,
8 in which you take the device electrically. And
9 she'd have to do this with an experienced hand
10 therapist.

11 There's only one or two places that you
12 can do this. One of this was at Green Road,
13 which was outside the Kaiser system, and that
14 makes it -- with this bureaucratic business now.
15 It's very difficult to get this arranged. But
16 we finally did through Dr. Anouchi's efforts to
17 get her to go to a therapist outside the Kaiser
18 system. And we have a very fine hand therapist
19 in our own office, but this special equipment
20 had to be done over at Green Road. Anyway.

21 And they showed her how to do it. And
22 what you have to do is stimulate these muscle on
23 a very disciplined basis in the hand. And these
24 are all these intrinsic muscles -- maybe I can
25 show you -- around the hand. These are these

7
[
8
1 muscles back here, all these small ones. And
2 they have to be stimulated regularly, because
3 while the nerve is healing or if it's going to
4 heal, it does no good if you allow these muscles
5 to atrophy, or wither away, because when the
6 nerves gets down here there are going to be no
7 muscles to work on.

8 So you're walking a tightrope. As fast as
9 you can you're trying to keep the muscles going
10 with the electrical stimulator and hope for the
11 good Lord to heal the nerve, because once you
12 have released it there's no way you can
13 accelerate nerve healing, you just have to wait,
14 So we tried to keep the muscles in shape waiting
15 for the nerve to come back.

16 Q All right. So the electrical stimulation that
17 you recommended for her --

18 A Yes.

19 Q -- hand was intended to do artificially what the
20 ulnar nerve would have been doing --

21 A Yes.

22 Q -- had it not been injured?

23 A Right. Yes.

24 Q Okay. Very good.

25 A And it has to be done under supervision and done

8
□
1 a lot.

2 Q All right. So she had a lot of physical therapy
3 for this?

4 A Yes. And it's annoying. Yes.

5 Q All right. You continued to see her throughout,
6 it looks like, July 31 of '92, August 11, '92,
7 August 21 of 1992, September 15, 1992?

8 A Yes.

9 Q Why don't we just take that two- or three-month
P0 period of time --

11 A Yes.

12 Q -- right after the operation?

13 A She's making some progress. As she went along
14 we were quite encouraged. She had what we call
15 a profundus activity, which means this finger
16 here, the little finger, could bend, but it was
17 only a trace. That means something was coming
18 through. She still had some, what we call,
19 cubital tunnel syndrome, which if you compressed
20 her nerve she could still feel some discomfort,
21 but it was getting better. She had less clawing
22 but she still had a claw deformity. This was
23 just -- it looked like it was a little better
24 than it was, say, compared to her visit in early
25 July.

8



1 Now, in September still she had no return
2 of her normal function. The sensory branch was
3 still out, meaning that the sensation that I
4 showed you on that blue area was still gone.
5 She had no flexor carpi ulnaris function. These
6 are all muscles that we expect to see to
7 gradually come back. That's how we monitor
8 them.

9 Q All right. So during that two-month
10 postoperative period of time --

11 A She's making, her elbow -- oh, by the way, she
12 was quite pleased. Her elbow could now come out
13 almost all the way out flat and could bend
14 basically the whole way in. So all that
15 exquisite elbow pain that she had before had
16 improved. So you know the nerve is now in a
17 comfortable bed, it wasn't being tethered
18 anymore.

19 Q Okay.

20 A So now we're just waiting and basically holding
21 her hand and trying to keep her spirits up.
22 She's very distraught because she's an athlete
23 and she's right-handed, and she can't serve and
24 coach and pound the volleyball to her -- for her
25 team the way she would like to do it.

1 Q All right.

2 A So this is particularly annoying.

3 Q So why don't you tell us, then, about 1993. She
4 saw you several times there?

5 A Yes.

6 Q And you started her -- you've got a TENS unit
7 now. What is a TENS unit?

8 A Well, a TENS is that stimulation unit,

9 Q Okay.

10 A And it also helps the pain a little bit, yes,

11 Q All right. And she continued to see you several
12 times in 1993.

13 A Yes.

14 Q I won't go through all the visits.

15 But you make reference in there, Doctor,
16 that she was well motivated?

17 A Yes. Oh, extremely so.

18 Q Meaning what?

19 A Well, motivated? Well, she wanted to get
20 better. She was trying and cooperating and
21 working to get her arm up. Sure.

22 Q Now, May 21 of 1993 you make a note, she still
23 can't set the balls for her volleyball team,
24 that the claw deformity was persisting, she
25 could not cross her fingers, she had a weak

8
[
1 profundus muscle, --

2 A Yes.

3 Q -- the one you were talking about earlier.

4 And then you saw her again August of 1993,
5 Doctor?

6 A Yes.

7 Q Would you summarize your important findings at
8 that time, please?

9 A Yes. This is now about a year out, over a year
10 out. So this is giving you some idea of how
11 she's coming along. With this major nerve
12 damage like this, you really can't make much of
13 an assessment until a full year goes by anyway.

14 Qkay. July.

15 Q It's August of '93.

16 A '93. I've got the cards here, Do you have
17 that? February '93. All right. August 27.
18 I've got it now. August 27.

19 She has progressive improvement in the
20 distribution of the nerve. She has sensation
21 over the dorsal branch of the ulnar nerve, which
22 is the one that goes on the back of the hand.
23 Still has none over the palmar side. As I
24 showed you, the palm side was still numb. She
25 cannot hold a tennis racquet. She still had the

8
[]
1 contracture of the PIP joint of the little
2 finger, which was contracted down, which has to
3 be splinted to help. I had given her some small
4 splints so her fingers -- not only are the
5 finger crooked, but it does not clear the palm.
6 It's very annoying. If you have to walk around
7 with your fingertip flexed all the time, every
8 time you touch something it gets in the way and
9 you have to pull it away. So we gave her a
10 splint to allow it to get out of the palm, We
11 want the fingers out straight.

12 She had 1 over 5 activity. That means --
13 5 over 5 is normal. So 1 over 5 means just
14 barely enough to record it. So it's basically
15 not very much. And that's on these muscles down
16 here. She still could not cross her fingers.
17 That's a very accurate sign for ulnar nerve
18 loss. If a patient cannot cross their fingers,
19 their ulnar nerve, the motor activity is absent,
20 That's just a physical diagnosis sign we use.
21 She had still fairly -- she had good first
22 dorsal interosseous muscle. This is this one
23 here which was recovering. The clawing was
24 still present.

25 Now, the second Tinel's is now definitely

9
[]
1 just distal to the pisiform. That means this
2 little bone in the hand. That means it had come
3 from all the way up here down to here. And that
4 second Tinel's means that the nerve is
5 progressing very slowly but -- I mean, excuse
6 me, improving, not progressing, but improving or
7 healing.

8 Q Yes.

9 A So that's encouraging. She had a good grasp of
10 her rehab exercises. She had a new splint. She
11 still had a primary Tinel's over the nerve
12 injuries, which means that there was still
13 embarrassment of the nerve way back high. So
14 you could still -- the nerve had not recovered.
15 If you have no Tinel's, you know the nerve is
16 normal. But she still had one back up in the
17 original area up in the elbow.

18 Q All right. Did she still have this clawing
19 deformity in August of 1993 when you saw her?

20 A Yes, she did.

21 Q All right. Doctor, and then it looks like she
22 then came back to see you just a few months ago,
23 March 1 of '94?

24 A Yes.

25 Q All right. And you have a fairly extensive note

9 [1 there regarding your discussion with her how she
2 was doing at that time.

3 Would you summarize for the jury, Dr.
4 Nahigian, the important items during your
5 examination and discussion?

6 A Of March?

7 Q Please.

8 A Okay.

9 Q March of 1994?

10 A So that's within, what, a couple months ago,

11 At that time when she came in she said she
12 had burned her hand on the ulnar border of the
13 hand here with second degree burns, being
14 blisters. When she was cooking the Christmas
15 turkey, she apparently had her fingers against
16 the hot -- either the turkey or the pan and
17 didn't feel it enough that it allowed her to
18 burn the hand without noticing it. She had no
19 feeling. She only smelled the odor, that
20 something is burning, and she looked at it,
21 which is a very common problem. People who have
22 no nerve innervation, they can burn their
23 fingers right off without even knowing it. And
24 this is what she did. Fortunately, she smelled
25 it and took her hand away so she didn't get a

9
[
1 third degree burn, because it can burn all the
2 way through to the muscle or bone.

3 She still had some clawing. She could now
4 cross her fingers, which was good news, the
5 index over the middle finger, vice versa. The
6 first dorsal interosseous, which is this
7 important muscle here in the first web space,
8 this was getting better. She had some increase!
9 in the sensibility over the nerve on the back.
P0 But still she had no return to the sensation on
11 the palm side, which is more important. She
12 resigned as a coach because of her inability to
13 use the right hand, and she was now on the full
14 professional faculty at the university but she
15 was not coaching her girl's volleyball team.

16 Q She had resigned you learned?

17 A Yes. And she was going to work on her Ph.D.
18 And she was having trouble utilizing the
19 computer. Now, that's a very real problem with
20 people with ulnar nerve weakness or palsy, that
21 she cannot use the ring and little fingers as
22 accurately and as fast, because you cannot
23 extend it out, and the little finger apparently
24 is very important in using the keyboard. She
25 could form a letter O, which is good. She could

9
[
1 extend the index finger against gravity, which
2 means that there's some improvement, but she
3 didn't have the same muscle bulk as she had on
4 the opposite hand.

5 Q What does that indicate to you, Doctor?

6 A Well, these intrinsic muscles that I showed have
7 not come back to normal, and that may be
8 permanent. You can't tell. But certainly
9 they're not normal at that time, which is about
10 a year out.

11 She could not spread her fingers, that
12 means opening her fingers out, but she did not
13 have paralysis of the third palmar interosseous,
14 which is one of the muscles in the palm, which
15 is very important. It would allow the little
16 finger to sort of hang down if that's paralyzed.
17 This is a very common complaint of people who
18 have ulnar nerve palsy. They say they can't get
19 the little finger into the side and it catches
20 on their pockets and on their purses and things.
21 But she could get it into the side of her hand,
22 which is very good news.

23 And she had seen Dr. Lacey for another
24 opinion. And let's see. Oh, at that time she
25 had no Tinel's at the elbow, which meant she was

1 getting some better. I could not get one as I
2 percussed around the elbow. Now, she had had
3 that the summer before but that had cleared up.
4 At that time I asked about an **EMG** and nerve
5 conduction test.

6 Q Okay. You make some reference, Doctor, to a
7 tendon transfer to restore the little finger
8 extension when you saw her in March of '94.

9 What are you talking about there, sir?

10 A Yes. If this continued to be paralyzed, if **this**
11 little finger could not get out of her palm and
12 the only way you could get **it** out of the palm
13 would be with a splint, you can't wear **it** 365
14 days a **year**, there are tendon transfers that you
15 can do to help extend the little finger. And
16 also there's another operation we do in which we
17 stabilize this joint by doing what we call a
18 capsulodesis, or tenodesis, fixing of the
19 capsule which locks the joint like this and then
20 will allow the weakened muscles to then extend
21 this joint so **it** gets out of the palm.

22 It's a very nice operation for someone who
23 doesn't do heavy work. If she was going to, for
24 instance, do basketball coaching again, **it**
25 wouldn't be a good operation because she could

0
□
1 probably tear it loose. But someone who just
2 wants to get the finger out of their palm and
3 take care of it, so if you're doing attorney's
4 work or you're doing school teaching or
5 something light, that's a very nice operation.
6 And it's done under general anesthetic and it
7 takes, oh, about six months to get over it. The
8 operation itself only takes about an hour, hour
9 and a half, but the rehab and waiting for the
10 capsule surgery to be perfect takes about six
11 months. So it would be some nonsense to go
12 through that.

13 (All right. Dr. Mahigian, I would like to ask
14 you a few opinions here, --

15 A Yes.

16 Q -- professional opinions. And I would like you
17 to give them only to a reasonable degree of
18 medical probability, if you would, please.

19 A Sure.

20 Q I would like you --

21 MR. GOLDWASSER: Just for the
22 record, I'm going to object to any opinion
23 questions. And I would like to just preserve
24 that, Mike, so we don't have to interrupt all
25 the time.

0
1 MR. MONTELEONE: Okay.
2 MS. VANCE: Join in the
3 objection.
4 MR. GOLDWASSER: Could we have a
5 continuing objection to opinion questions?
6 MR. MONTELEONE: You certainly
7 may.
8 MR. GOLDWASSER: Okay.
9 MR. MONTELEONE: What's the
10 basis for it, Gary?
11 MR. GOLDWASSER: I don't have a
12 letter from the Doctor in which he authors any
13 opinions.
14 MR. MONTELEONE: I don't have a
15 letter either. You've got his medical records.
16 You've got what I've got.
17 MR. GOLDWASSER: I just told you
18 the basis of my objection.
19 MR. MONTELEONE: Qkay.
20 BY MR. MONTELEONE:
21 Q Dr. Nahigian.
22 A Yes.
23 Q Give us your professional opinion, to a
24 reasonable degree of medical certainty or
25 probability, whether Mary Motley's hand, her

1 right dominant hand, will ever be normal again,
2 sir? Do you have an opinion?

3 A Yes. The exact amount of disability cannot be
4 determined for at least another two, three
5 years, how much deficit there's going to be.
6 From a probable standpoint, it probably will not
7 be normal again.

8 Q All right.

9 A But it could. But it probably will not.

10 Q Okay. Can you tell us what impact this injury
11 to her hand has had on her career and her love
12 for sports and athletics?

13 A Up to the present --

14 MR. GOLDWASSER: Now I'm going
15 to object on a different basis.

16 THE WITNESS: Oh.

17 MR. GOLDWASSER: I just don't
18 think the Doctor, as fine a physician as he is,
19 is qualified to answer that particular question.
20 So my objection is noted. And I'm going to ask
21 the court to strike from it the jury's
22 consideration. You may proceed.

23 MS. VANCE: Join in the
24 objection.

25 A Now, you want to know it to the present time as

10 1 of March of '94?

2 Q Yes. In the two full years.

3 A As of March of '94, yes, she still had a weak
4 right hand. She has still clawing of the
5 fingers, which means the hand doesn't have the
6 dexterity and the balance that you would need
7 for any type of competitive athletics. She
8 certainly could do any simple sports. I don't
9 know, I didn't ask her about whether she could
10 hold a tennis racquet now. It's a hampered
11 hand. The intrinsic muscles are the muscles that
12 give balance to the hand, that allow you to do
13 these complex motions with the joints. And the
14 hand basically is clumsy, the muscle mass is not
15 there. As I mentioned, a year from now it may
16 be improved.

17 Q All right. Dr. Nahigian, will she continue to
18 need to see you, a specialist in hand surgery,
19 for the indefinite future?

20 A Well, I had scheduled for her to come back. As
21 you know, she did not come back. I wanted to
22 see her after she had these electrical tests,
23 and she's still awaiting them, as I understand.

24 Q All right.

25 A And I do want to see her. Then I can give her

10
11
1 much more counsel if I see what the -- what we
2 call and **EMG** and nerve conduction tests show.

3 By now, this is now going to be almost two
4 years. Is it going to be two years? '92,
5 '90 -- yes, it will be two years out. And you
6 should be able to start seeing some earmarks of
7 either recovery or irretrievable damage or some
8 areas that are still in transition that are
9 starting to heal, getting better by now. As I
10 told you initially, it takes five years for a
11 major nerve to recover. So she would be about
12 halfway along by now.

13 Q Dr. Nahigian, can you please give us your
14 professional opinion to a reasonable degree of
15 medical probability, --

16 A Yes.

17 Q -- as to whether these injuries to her ulnar
18 nerve and hand that you have described this
19 afternoon are a direct result of the suture that
20 was placed accidentally into her ulnar nerve on
21 June 4, 1992 during the surgery that was
22 performed by Drs. Anouchi and Fabian?

23 A Yes.

24 Q All right. In order to testify here this
25 afternoon, Doctor, has it been necessary to take

11 1 some time away from your professional practice,
2 sir?

3 A Yes.

4 Q And you expect to be compensated for your time?

5 A Yes.

6 Q All right.

7 MR. MONTELEONE: Dr. Nahigian,
8 thank you for testifying or taking the time to
9 testify on behalf of your patient. Perhaps one
PO or both of these attorneys would like to ask you
11 some questions now.

12 EXAMINATION OF STANLEY H. NAHIGIAN, M.D.

13 BY MR. GOLDWASSER:

14 Q Doctor.

15 A Yes.

16 Q I take it from the marked improvement that you
17 have observed in March of '94 as compared to
18 July of '92, that the nerve is, in fact,
19 regenerating to some degree, is it not?

20 A Yes, it is.

21 Q And as you have been candid enough to tell us,
22 you just do not know yet how much further this
23 nerve will regenerate and improve as to function
24 for this particular patient?

25 A You mean the end point, the exact end point?

- 1 Q That's correct.
- 2 A Yes, that's correct.
- 3 Q Now, Doctor, my impression is that the surgery
4 you performed was, in fact, successful; is that
5 correct?
- 6 A Yes.
- 7 Q It accomplished the purposes that you were
8 intending to accomplish; am I correct?
- 9 A Yes, trying to -- you're waiting for the Lord to
10 heal the rest of it, I just put it in the
11 proper bed, yes.
- 12 Q Sure. You did all that you could do?
- 13 A That's all I could do.
- 14 Q And you did a darn good job of it, didn't you?
15 Don't be modest. You did, didn't you?
- 16 A Well, ask her.
- 17 Q Well, she wouldn't know, and you would. And I
18 think --
- 19 A Well, yes, she would,
- 20 MR. MONTELEONE : Well, --
- 21 A She would know. Ask her if she's better now
22 than she was in June or July of '92.
- 23 Q Well, she told you she's better; isn't that
24 true, sir?
- 25 A That's okay.

1 Q From your own records.

2 A All right.

3 Q Isn't that true?

4 A That's helps, yes.

5 Q Okay. Now, Doctor, you examined the nerve in
6 question --

7 A Yes.

8 Q -- under the microscope, correct?

9 A 'Yes.

0 Q And you describe your findings on page 3 of your
11 operative note?

12 A Yes.

13 Q I'm not sure I know how to pronounce the word,

14 A Go ahead.

15 Q It's fascicles?

16 A Fascicles, yes.

17 Q Oh, I did good on that. And what are fascicles?

18 A Those are the -- you know what a cable is. All
19 right? The nerve is a cable in which it has
20 wrapping. And then the cable has multiple small
21 wires running through them within the cable
22 itself. It's not a solid structure; it's a
23 group of nerve fibers, or fascicles. Those are
24 the -- those are the smallest bundles of nerves
25 that you can see with the naked eye or with a

11 1 conventional microscope. So you're down to the
2 very basic nerve conduits.

3 Now, the axon, which is the true -- the
4 true nerve cell which sends the fibers into the
5 hand, is so small you'd have to have special
6 stains and a special microscope. An operating
7 room microscope doesn't get any smaller than a
8 fascicle. So the state of the art in nerve
9 surgery is that that's the smallest we can go
10 right now.

11 Q All right. Well, you talk about the fascicles?

12 A Yes.

13 Q And in **some** area it was in good condition and in
14 another area, --

15 A Yes.

16 Q -- where the ligature had been, there were some
17 wavy fascicles?

18 A Yes.

19 Q **But** the fascicles, in fact, were intact?

20 A Oh, yes.

21 Q That is, they were not severed?

22 A They were not severed, that's correct.

23 Q And, in fact, you state, "There appeared to be
24 no damage to the fascicles themselves"? Isn't
25 that what you say in your report?

- 1 A Can you tell me what --
- 2 Q Sure. About the middle of page 3, second
3 paragraph, about the middle. "There appeared to
4 be no damage to the fascicles themselves."
- 5 A Yes. That means they were not severed, no.
6 That's correct.
- 7 Q And you talk about the fact that the circulation
8 above the nerve appeared to be in good
9 condition, correct?
- 10 A Yes. Correct.
- 11 Q So does this not imply to you, sir, as a hand
12 surgeon, that, in fact, this nerve after your
13 surgery has the ability, depending on whatever
14 nature's way is going to have with this
15 particular --
- 16 A Potential ability. Potential, yes.
- 17 Q -- this particular lady, it has the potential to
18 heal?
- 19 A Yes, it does.
- 20 Q Doctor, you talked about the function of the
21 ulnar nerve, in response to questions asked by
22 Mr. Monteleone. And it's my understanding from
23 the little bit I know of this subject, which I
24 could assure you is relatively little, that it
25 is primarily the little finger, or some people

2
[
1 call it the little pinky finger, --

2 A Yes. Yes.

3 Q -- and the ring finger, or the fourth finger, --

4 A Uh-huh.

5 Q -- which is primarily innervated by the ulnar
6 nerve; is that correct?

7 A The sensation of it is.

8 Q The sensation?

9 A Yes.

P0 Q That's right?

11 A Yes.

12 Q And, in fact, according to that wonderful book
13 that you made reference to earlier, --

14 A Yes. Yes.

15 Q -- Dr. Netter demonstrated that the sensation
16 really is on the outside portion of the --

17 A Yes.

18 Q -- third, fourth finger?

19 A The little finger side of it.

20 Q And the little finger, correct?

21 A The little finger side of the ring finger and
22 the little finger itself.

23 Q Right. Right.

24 And as to the motor function, while it is
25 true that the ulnar nerve does affect some of

12 [1 the muscles that control them --

2 A Most of the muscles.

3 Q Most of the muscles.

4 Nonetheless, as is demonstrated in your
5 March '94 visit, Mrs. Motley has made some
6 significant improvement in that regard, has she
7 not?

8 A Yes, sir. Yes.

9 Q Doctor, without making light of the fact that
10 this, of course, significantly affects her hand
11 function, isn't it true that in the normal
12 course of day-to-day living that the two most
13 important digits in the hand are the thumb and
14 the first, or the forefinger, second finger?

15 A For precision. Not for grip. For power grip
16 and for balance the little finger is actually
17 more important than the -- most hand surgeons
18 rank the fingers thumb, little finger, and then
19 the other three are basically equal.

20 Q So for all fine motor function, like she could
21 button her blouse, --

22 A Yes, that's not --

23 Q -- she could grab a paper?

24 A If the muscle balances com back, yes. The
25 sensibility is not altered over the thumb and

12 1 the index and middle finger in her case, yes.

2 Q All right. Now, you have been kind enough to
3 review some of your office records with Mr.
4 Monteleone. And I don't want to belabor that.

5 But I have the impression that, as one
6 looks at your office notes in chronological
7 order, that, in fact, she starts making
8 improvement gradually, slowly,

9 A Uh-huh.

10 Q For example, as you've indicated, she by
11 February has full range of motion in the elbow?

12 A Yes.

13 Q And that I give you due credit for. Your
14 surgery, in fact, was successful in that regard,
15 correct, sir?

16 A Yes.

17 Q In fact, in February she was able to cross her
18 fingers, according to your note, and then
19 apparently in a later visit she had difficulty
20 crossing her fingers?

21 A Uh-huh.

22 Q But by March of '94 she was able to cross her
23 fingers in both directions?

24 A Yes.

25 Q Correct?

- 2
1 A Yes.
- 2 Q And as you've indicated, that's a very good
3 sign?
- 4 A Oh, excellent sign.
- 5 Q Doctor, if we look at your office records, --
6 you could place them in front of you if you care
7 to -- look at your August 27 sheet you have
8 there. That's the one I'm going to make
9 reference to here.
- 10 A Yes, I have it.
- 11 Q You have her instructed, according to the last
12 line under August 27, to return to see you in
13 three months?
- 14 A Yes.
- 15 Q And, in fact, it was about five or six months
16 before she came back to see you; isn't that
17 true?
- 18 A Yes. We sent her an appointment and we wondered
19 what happened. This is -- I was just discussing
20 it with --
- 21 THE WITNESS: Can I say your
22 name? Monteleone.
- 23 Q Mr. Monteleone.
- 24 MR. MONTELEONE: Monteleone.
- 25 A Can I say that? Okay. I was discussing it with

12



1 him.

2 Q Sure, you can say it. I say it all the time.

3 A All right. I was discussing with Mr.

4 Monteleone -- well, I didn't know if you want to

5 do that.

6 Q No. That's fine.

7 A -- that I don't know if that was our system that

8 broke down in the retrieval or whether this was

9 indeed her fault. I can't tell. But anyway we

10 picked it up that in three months she had not

11 come back, so we sent her an appointment in

12 November to come back here. Okay.

13 Q Of course, Doctor, there's nothing to prevent

14 your patient from calling you.

15 A Well, sometimes we see -- yes, that's correct.

16 Q Isn't that true?

17 A Sometimes if we say "fail," that means they

18 didn't show up. So I can't incriminate her one

19 way or the other why she didn't come in.

20 Q Of course.

21 A Yes.

22 Q But, Doctor, if a patient of yours --

23 A Yes. Yes.

24 Q -- is having difficulty or is concerned about

25 their progress, --

12
1 A Yes.

2 Q -- they could call you, right?

3 A Yes.

4 Q In fact, you probably encourage your patients to
5 call you?

6 A Oh, I would. Absolutely, yes.

7 Q And if a patient calls you and is concerned
8 about their level or comfort or function, you
9 are ready, able and willing to see that patient
10 promptly; isn't that true, sir?

11 A Oh, absolutely.

12 THE VIDEOGRAPHER: Excuse me,
13 counsel. I need to go off the record.

14 (Off the record,)

15 BY MR. GOLDWASSER:

16 Q So, Doctor, the point is, nonetheless, it was
17 about five or six months before she returned to
18 see you again on March 1, 1994. And as I recall,
19 your testimony that you shared with us just a
20 few moments ago, she seemed to have made some
21 significant improvements; isn't that correct,
22 Doctor?

23 A That's correct.

24 Q One, she could cross the fingers, right?

25 A Yes, sir.

1 Q She has some increase in the sensibility in the
2 dorsal branch of the ulnar nerve, correct?

3 A Yes. Correct.

4 Q That's something that she wasn't experiencing
5 before; isn't that true?

6 A That's correct.

7 Q The ring finger is now lying in a more extended
8 attitude. That's an improvement, isn't it,
9 Doctor?

0 A Yes, sir.

11 Q And she has excellent adductor power in the
12 little finger. That's an improvement, isn't it?

13 A Yes.

14 Q And she can extend the index finger against
15 gravity. That's an improvement, is it not?

16 A That it is.

17 Q And you also say, "Significantly, she does not
18 have paralysis of the third palmar
19 interosseous." And you explained that prevents
20 the little finger from flying out on the hand?

21 A Yes.

22 Q And that again is pretty significant evidence to
23 you, is it not, Doctor, that in the course of
24 time, as you described it, sometimes taking as
25 long as five years, that there is, in fact,

13
1 progressive improvement as to her ulnar nerve;
2 isn't that true, sir?

3 A That's correct, sir.

4 Q You also indicate that there's no Tinel's
5 elicited after the primary Tinel's at the elbow?

6 A Uh-huh.

7 Q That also is a sign of improvement, is it not?

8 A Yes.

9 Q So, Doctor, isn't it fair to state that when one
10 observes the extent of the improvement that Ms.
11 Motley has had between June -- I'm sorry, July
12 of 1992 and March of '94, March 1, that with
13 probability there will be some continued
14 improvement, albeit you cannot tell us
15 specifically to what extent?

16 A That's correct.

17 Q You have mentioned that Dr. Anouchi made
18 arrangements for her first to see you, correct?

19 Dr. Anouchi --

20 A You mean way back in '92?

21 Q Yes, way back.

22 A Yes. Yes.

23 Q Sure.

24 A Okay.

25 Q And that he also made arrangements within the

13
1 Kaiser Permanente system for you to, not only
2 take care of her and be compensated for that,
3 quite appropriately, but also for the
4 appropriate therapist to become involved?

5 A Yes.

6 Q Correct?

7 A Correct.

8 Q And I assume it's fair to state that Dr. Anouchi
9 clearly demonstrated to you that he was
10 concerned about this patient's welfare?

11 A Oh, extremely so.

12 Q And he was very contrite and upset about the
13 fact that a suture had inadvertently been placed
14 through the epineurium of the ulnar nerve; isn't
15 that true?

16 A Absolutely.

17 MR. GOLDWASSER: Doctor, that's
18 all the questions I have. Ms. Vance may inquire
19 if she cares to.

20 THE VIDEOGRAPHER: We're off
21 record,

22 (Off the record.)

23 EXAMINATION OF STANLEY H. NAHIGIAN, M.D.

24 BY MS. VANCE:

25 Q Doctor, I just have a couple clarifying

13
13 1 questions, if I might, for you.

2 You told us that, when Miss Motley first
3 came to see you back in July of 1992, that one
4 of her most severe complaints was the exquisite
5 elbow pain that she suffered; is that right?

6 A No, not purely. It was pain at the elbow. It
7 was secondary to the nerve being tethered at the
8 elbow. It was not like she had arthritis of the
9 elbow or a fracture at the elbow or something.

10 It emanated -- the pain emanated from the elbow.

11 Q And it severely restricted her ability to move
12 her elbow?

13 A Oh, very much so.

14 Q And I think you indicated that that was, at
15 least at that point, a very significant problem
16 to her, the fact that she had such limited
17 movement of that elbow?

18 A Yes. Yes.

19 Q As I understand it, then, since July of 1992 --

20 A Yes.

21 Q -- and following your surgery, through March of
22 1994, that particular problem with the elbow has
23 entirely resolved?

24 A Yes. It's not a factor.

25 Q She now has the full range of motion of that

13 1 elbow and can move her elbow just as well on her
2 right hand as she can on her left; is that
3 right?

4 A Yes. It might be a few degrees off, but no
5 measurable problem.

6 Q But what had been a very significant, very
7 painful problem --

8 A Yes. Oh, yes.

9 Q -- has resolved?

10 A Yes, that has resolved.

11 Q And you indicated in the course of your notes
12 that, within about a year or so following your
13 surgery or a little bit less than that, she was
14 back in her gym doing her gym work with her
15 classes although was still having some problem
16 with the setting of volley balls?

17 A No, she never really -- she managed to do it
18 through her own courage. She was not at all, in
19 her own estimation, back to what she was before
20 June of '92, whatever, yes.

21 Q But she was back in the gym and was back --

22 A Yes.

23 Q -- trying to do her best --

24 A Trying to do it, yes.

25 Q -- to resume her daily activities as it relates

13 1 to her career?

2 A Yes. Yes.

3 Q You have indicated that, when you did last see
4 her in March of this year, at that point you
5 understood that she had taken a full-time
6 faculty position at Cleveland State University?

7 A Yes.

8 Q And was then pursuing her work in Ph.D.?

9 A Yes, she was going on.

10 Q Obtaining a Ph.D. degree?

11 A Yes.

12 Q Okay. You have not seen her since March of
13 1994?

14 A No, ma'am.

15 Q I thought you indicated that you had expected to
16 see her, though, since March of 1994?

17 A Oh, yes, we do. we discussed this before. I
18 wanted to see her, absolutely.

19 Q Okay. And why is it that you want to see her
20 again after March of 1994?

21 A She was to have an **EMG** and nerve conduction
22 test, which is an electrical diagnostic test, to
23 see -- which is very helpful in predicting what
24 the eventual outcome of this nerve is going to
25 be. She's now two years out. And I told her

4
□
1 she should have one to see -- for her own. I
2 mean you don't have to. But I mean if she
3 really wants a real solid opinion as to what her
4 nerve is going to be like three to five years
5 from now, she better have electrical tests, yes.

6 Q Have you discussed that with her?

7 A I did.

8 Q Did you make your recommendation to her that **she**
9 have those tests?

10 A I did. I have an appointment, even a carbon
11 copy of **it**. I don't know why she didn't have
12 **it**.

13 Q Were arrangements made for her to actually
14 undergo those testing at some facility?

15 A That I don't know. I mean she would have to
16 have **it** through -- I don't know whether she'd
17 have to go back through the Kaiser plan again,
18 I think they would have to approve **it**. But I
19 thought because of all the litigation business
20 that I said her attorney? whoever would be
21 looking after her, should have **it** approved. The
22 Kaiser thing is very complex. Some things they
23 let go through very quickly, sometimes they
24 don't. And so I -- as a consultant I can't say,
25 "You can have this test," "You should have that

14 [1 test." Everything has to go back to their
2 system and then they farm it back out again.

3 Q Understanding --

4 A But it was my feeling that she should have it.
5 And if she had to pay for it herself and then
6 get reimbursed later, I would suggest that. I
7 mean this is her right hand and so she shouldn't
8 let the insurance business stop it,

9 Q You would consider this to be an important set
10 of tests for her to undergo?

11 A Oh, yes, absolutely.

12 Q You would find it important from your
13 standpoint --

14 A Oh, yes! absolutely,

15 Q -- in being able to continue your care for her?

16 A Yes. Yes

17 Q And in able to come to some estimation as to
18 what the future would hold for her --

19 A Yes.

20 Q -- in terms of her nerve injury?

21 A Yes.

22 Q Okay. Have you made any other recommendations
23 to Miss Motley in terms of further treatment or
24 further evaluation, other than getting this
25 these particular tests?

L4
1 A Well, no. We would way wait for this, and then
2 we would wait to see how the hand functioned
3 over the next six to 12 months or 18 months and
4 see if we have to do any further tendon work or
5 capsule work, if she wants to have it done.

6 Q As I understand it, there are various surgical
7 procedures or options that might well be
8 available to Miss Motley after consultation and
9 discussion --

10 A Sure.

11 Q -- that might significantly help some aspects of
12 the strength and the motor ability and the
13 functional ability of that right hand?

14 A Yes, if it comes to that.

15 Q Okay.

16 A If she still complains from it, I would not do
17 any tendon surgery or any major reconstructive
18 surgery for at least another year, year and a
19 half.

20 Q The fact is or the only point I guess I wish to
21 make --

22 A Sure.

23 Q -- is that there are options, there are surgical
24 options, that may well be available to her in
25 the future --

4
[
1 A Oh, yes. Oh, yes.

2 Q -- that could significantly improve her present
3 situation?

4 A To improve her --

5 Q Could improve the function, improve the
6 strength?

7 A Yes, assuming she never -- if you assume that
8 she never improved beyond this point, yes. If
9 she said, "Yes, I still have a claw hand; yes, I
10 still have difficulty with pinching or
11 grasping," there are. Yes, there are. But that
12 would be -- I could not do anything to help her
13 sensation.

14 Q But these are --

15 A Yes.

16 Q -- surgical opportunities that might well be
17 available for her in the future?

18 A Yes. Yes.

19 Q Very good.

20 MS. VANCE: Thank you,
21 Doctor. No further questions.

22 REEXAMINATION OF STANLEY H. NAHIGIAN, M.D.

23 BY MR. MONTELEONE:

24 Q Dr. Nahigian, just a couple more before we wind
25 up here this evening.

14 1 A Sure.

2 Q Mr. Goldwasser pointed out very nicely what a
3 fine job you did in the surgery.

4 No matter how good a job you did in
5 surgery, you could not undo all the damage that
6 had been done to that nerve when the suture was
7 put through it, though, could you?

8 A No, sir.

9 Q No hand surgeon could do that, could they?

10 A NO.

11 Q And certainly, as both Dr. Anouchi and Dr.
12 Fabian indicated already, they never intended,
13 they didn't want to put this suture, or stitch,
14 through this nerve, but it happened, and it's
15 for this very reason that surgeons avoid this
16 kind of thing, because damage to a nerve with a
17 suture, or stitch, can be very severe for a
18 patient, can't it?

19 A It sure can.

20 Q All right. These surgical options that Ms.
21 Vance has brought up, Doctor, for Mary Motley,
22 no matter what future surgery is done, either
23 upon your recommendation, sir, with her
24 agreement is any guarantee that her hand is
25 ever going to be normal again, is it?

14
[]

15

1 A No, not at all. It would be just to help it.
2 No way could you restore it back to what the
3 opposite side is.

4 Q All right, Doctor, The final thing is, would
5 you just tell us, please, this three-page
6 statement, which I will mark as Plaintiff's
7 Exhibit Number 3, because the photographs are 1
8 and 2, just tell us, is that, in fact, a copy of
9 your bill and services to date, charges for the
10 services you rendered to Mary Motley?

11 A Yes. Some are mine and some are the therapist's
12 and different things, yes.

13 - - - - -

14 (Plaintiff's Deposition Exhibit No. 3 was marked
15 for identification.)

16 - - - - -

17 MR. MONTELEONE: Thank you very
18 much, Doctor. That's all I have,

19 MR. GOLDWASSER: No further
20 questions.

21 MS. VANCE: No.

22 THE VIDEOGRAPHER: Doctor, you
23 have the right to view this videotape to approve
24 its accuracy and you can also waive that right.

25 THE WITNESS: I waive it.

5
[
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE VIDEOGRAPHER: Will counsel
waive filing requirements on this videotape?

MR. MONTELEONE: Yes. Vickie?

MS. VANCE: Yes, we'll
waive the filing requirements.

MR. GOLDWASSER: What's that?
Oh, sure, we'll waive all that, Mike. I will
anyway.

MS. VANCE: Sure.

MR. MONTELEONE: You'll waive
your signature won't you, Doctor?

THE WITNESS: Yes.

- - - - -



THE STATE OF OHIO,) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

I, Charles A. Cady, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, Stanley H. Nahigian, M.D., was first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed on a computer/printer, and that the foregoing is a true and correct transcript of the testimony so given by him, as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 2ND day of June 1994.

Charles A. Cady

Charles A. Cady, Notary Public
within and for the State of Ohio
My Commission expires November 3, 1994.

STANLEY H. NAHIGIAN, MD., INC.

BRAINARD PUCE

29001 CEDAR ROAD

SUITE #519

CLEVELAND, OHIO 44124

TELEPHONE 473-3434 (AREA CODE 216)

STANLEY H. NAHIGIAN, M.D.

May 4, 1988

ORTHOPAEDIC SURGERY

SURGERY OF THE HAND

Samuel R. Martillotta
Mansour, Gavin, Gerlack & Manos Co., L.P.A.
2150 Illuminating Building
Cleveland, Ohio 44113-1994

Doc. 330

Dear Mr. Martillotta:

This is in response to your correspondence of April 20, 1988, regarding [REDACTED] whom we examined in considerable detail on April 26, 1988, at your request because of a longstanding problem with her left hand.

You kindly forwarded a copious amount of materials, including past operative notes, past hospital admissions, etc., etc., which we reviewed in considerable detail. This is not the usual simple review and the records although were numerous, still had one or two items which I think would have been helpful.

1. The original findings of the carpal tunnel release done at the Lutheran Hospital in 1982.
2. The path detail as to what the median nerve neuroma showed and the surgery of October of 1985, by Dr. Keith and associates, in which they resected the nerve and this would help to see if indeed the nerve had been separated within the past three or fourth months as implied by her history.

I was able to piece together a good bit of helpful information, which we gleaned by the various charts and previous records, and a very good history which she gave to us along with the examination of April 26, 1988. At that time, she was very co-operative, was not particularly uncomfortable, and I was surprised to see the relatively good appearance and function of her hand, despite the rather complicated and extensive history, in which she had had so much surgery directed to this left upper extremity. She has as many complaints referable to the right ankle and foot as she did to the hand. This was the site of the harvesting of a sural nerve graft.

Without going through the past history in too much detail, I will try to put this in a perspective that will help you in preparing your case.

1. I feel that the fall on the outstretched hand on November 24, 1984, in which she complained of severe pain in the left wrist was a genuine injury of a symptomatic sort. This was a previously injured nerve with two large neuromas confirmed by two competent explorations at Metropolitan General Hospital and review of the history, there was known damage to the nerve and I feel that she landed on the nerve on a direct blow manner, causing excruciating pain.

I do not feel that the nerve was separated with that type of impact. The neuroma was well established, fibrous and if there were adhesions about the nerve

(2)

[REDACTED]

trapping it, the nerve would have been torn asunder and it would have been obvious with the exploration at the time of the carpal tunnel exploration on September 17, 1985 and again at the time the nerve graft was done on October 15, 1985. Neither operative note mentions that the nerve had been separated or "strung out" as if it had been torn apart. From the mechanism of the fall, with no fracture and no significant tendon damage, I feel this was merely a compression type crush to the already damaged nerve which could give a horrendous amount of pain, but would not cause any mechanical damage.

2. I feel that the neuroma surgery done by Dr. Keith and his assistants on October 15, 1985, was directed making her more comfortable and resecting a rather large neuroma, which caused her incapacitating discomfort. She had a past history, as I read through, that there was indeed multiple episodes of incapacitating hand pain mentioned on prior hospitalizations and limited to the median nerve distribution that were a sequelae to the original trauma several years ago, in which she had caused self-inflicted lacerations to the flexor aspect of the wrist, the earliest that we can substantiate it was dated on or about June 26, 1961. She had no exploration of the wrists at that time but there was evidence of nerve damage clinically. Fortunately, because of an anomalous arrangement with her musculature, the thenar muscles remained quite good, the thumb fairly functional from a motor aspect because of cross-over innervation from the ulnar nerve. This was substantiated by electrical stimulation studies at the time of her exploration surgery on October 15, 1985, at Cleveland Metropolitan General Hospital.
3. In reviewing the extensive court deposition material given by Dr. Keith on March 30, 1988, that the primary reason for the exploration was that this woman was not responding to the usual treatment that will help neuroma pain and it was felt that a resection of this neuroma with an insertion of a nerve graft, would be helpful in helping her discomfort. In no way can a nerve graft done at this time, twenty-five years after the nerve has been damaged, do any good for a functional return. This was just to help the comfort, take the tension off the nerve and allow her to function with essentially complete absence of the median nerve sensibility pattern. There was another neuroma proximal to this, which was not resected at the best I can tell from the records. If there is something on the pathologist report, that indeed shows two neuromas, I would have to change my report. From a clinical standpoint, I was able to elicit a Tinel's sign proximal to the major surgery done at the wrist, indicating there is a neuroma several centimeters proximal to the wrist crease, which indeed was seen on the exploration of September 17, 1985.
4. From a functional standpoint, the median nerve is useless. There is no 2 point sensibility over the median nerve distribution within the functional range, neither moving or static 2 point sensibility. There is even an absent pseudo-motor function, which may or may not come back in the next few years, confirming the distribution pattern. The radial nerve sensation is normal. As I mentioned above, the thenar musculature is normal because of her cross-over innervation so she has good circumduction of the thumb. All of her wounds are well healed, there is no entrapment of the median nerve now in the surgical scars.

(3)

[REDACTED]

5. There are substantial complaints in her right foot, secondary to the excision of the sural nerve. This is a common sequelae from harvesting a nerve graft, particularly if someone has the temperament of [REDACTED] in which she has extremely sensitive hands and feet and has a problem coping with the area of anesthesia and wound tenderness, which are needed when a graft is harvested in the distal right leg and ankle. The distribution of the decreased sensation and the tenderness is all compatible with the surgery described and there is no extenuating circumstance or unusual circumstance in her complaints or the patterns of pain that she complains about in the right ankle and foot.
6. I would reiterate that this hand was not normal at the time of her fall on November 24, 1984. This was a symptomatic hand, in which she was able to deal with, but there was no significant ~~new~~ lesion caused by this fall on the outstretched left wrist. The patient stated in her history that she had the typical night pain distribution of her carpal tunnel syndrome, six months prior to her first surgery in 1982 and stated that she was relatively comfortable after it, until her 1984 fall, but there are complaints and hospital diagnoses, in which she indeed was having considerable pain over her left hand, compatible with only median nerve findings. It was noted by the operators in 1985, that the nerve release had been done, but they felt it was not complete and there indeed may have still been some entrapment of the median nerve within the carpal tunnel because of the extensive amount of scar present over the years. This would be expected in this case that it had previous glass lacerations and is not the typical virgin case of carpal tunnel syndrome, in which a simple division of the transverse volar carpal ligament gives permanent and complete relief if there is no damage to the nerve. Once there has been nerve damage, even when the carpal tunnel is decompressed, the patient will still have radiating pain, especially if the nerve was incompletely severed and there is a burning or causalgia type pain which I think she had from time to time according to the records I reviewed.
7. When she presented on April 26, 1988, she was well dressed, well groomed, had nail polish on both hands and stated she was now a housewife. She has had two marriages and has been divorced twice. She does not work. She has difficulty using small objects with the hand such as putting on necklaces, but can feed herself, drive a car, but: needs help from her family when doing heavy work in the yard, shoveling snow and has some cramps in the hand and foot over the nerve distribution. She definitely has cold intolerance over the pattern of nerve loss, both in the left hand and the right foot which is expected with a nerve lesion. She wears no special appliances or protective devices over either hand or foot. She has lost all of the acute sensitivity in the median nerve distribution in the left hand and she cannot use this to reach into her purse and look for small objects because of the loss of sensation, she is right-handed and is able to do most of the fine activities needed in the median nerve area, by using her dominant right hand. Her grip strength is decreased approximately 50% on the left compared to the right. Her key pinch, power pinch and chuck pinch are all decreased by 50%, which would be anticipated. She has no significant wrist deficit as far as her motion goes and is only a few degrees off on the flexion/extension arc, which is not significant on this non-dominant wrist. She states at this time she is now able to distinguish hot and cold with protective sensation over the median distribution in the left hand, which

(4)

RE [REDACTED]

was not present before the nerve graft. She has no fine tactilgnosis and will never achieve this in the future.

In summary, I feel that she had:

1. A prior injury to the nerve, well substantiated.
2. A fall on the outstretched wrist on November 24, 1984, caused only a severely painful injury with a crush to the nerve already compromised and the patient could not cope with this for one reason or another, who has had adequate exploration of the nerve, adequate decompression and now a nerve graft to help decompress the neuroma. The nerve graft is done for comfort sake only and will never restore the normal 2 point sensibility needed in the median nerve distribution.
3. She has cross-over sensation from the ulnar nerve, which has preserved her thenar musculature throughout these many years, despite clear-cut damage to the nerve, the thumb moves well and there is no atrophy of the thenar muscles, which would be anticipated. At the present time, the hand moves well, there is no causalgia type pain. She has the Tinel's sign, which indicates irritability over the nerve, which would be anticipated and cold intolerance would be anticipated and it is encouraging that she does have some heat and cold or protective sensation over the median nerve distribution, in which she didn't have before.
4. The complaints referable to the right foot and ankle are all secondary to the harvesting of the graft and her complaints are appropriate in this area.

This is a very complicated problem. I hope this will be helpful in your case preparation. If you do find any new material that could be of more definitive use, I would appreciate discussing-it with you. If you need further information, please feel free to contact me. Thanks again for having me see [REDACTED]

Sincerely,

Stanley H. Nahigian M.D.

Stanley H. Nahigian, M.D.

SHN/bi