

1                    IN THE COURT OF COMMON PLEAS

2                    CUYAHOGA COUNTY, OHIO

3            DIANE M. CARRICK,  
4            EXECUTRIX, etc.,

Doc. 332

5                    Plaintiff,

6                    -vs-

JUDGE J. ICILCOYNE  
CASE NO. 185330

7            THE CLEVELAND CLINIC  
8            FOUNDATION, et al.,

9                    Defendants.

10                   - - - -

11            Deposition of SATORU NARAMOTO, M.D., taken as  
12            if upon cross-examination before Susan M.  
13            Cebren, a Registered Professional Reporter and  
14            Notary Public within and for the State of Ohio,  
15            at the Cleveland Clinic Foundation, 9500 Euclid  
16            Avenue, Cleveland, Ohio, at 11:20 a.m. on  
17            Tuesday, November 20, 1990, pursuant to notice  
18            and/or stipulations of counsel, on behalf of the  
19            Plaintiff in this cause.

20                   - - - -

21                   MEHLER & HAGESTROM  
22                   Court Reporters  
23                   1750 Midland Building  
24                   Cleveland, Ohio 44115  
25                   216.621.4984  
                    FAX 621.0050  
                    800.822.0650

APPEARANCES:

Charles I. Rampinski, Esq.  
Charles I. Kampinski Co., L.P.A.  
1530 Standard Building  
Cleveland, Ohio 44113  
(216) 781-4110,

On behalf of the Plaintiff;

Douglas K. Fifner, Esq.  
Reminger & Reminger  
Seventh Floor - 113 St. Clair Building  
Cleveland, Ohio 44114,  
(216) 687-1311,

On behalf of the Defendants

Robert P. Riley, M.D.  
and Nazih M. Zein, M.D.;

James L. McCrystal, Jr., Esq.  
Weston, Hurd, Fallon, Paisley & Howley  
2500 Terminal Tower  
Cleveland, Ohio 44113  
(216) 241-6602,

On behalf of the Defendant  
Lakewood Hospital Association;

George F. Gore, Esq.  
Arter & Hadden  
1100 Huntington Building  
Cleveland, Ohio 44115  
(216) 696-1100,

On behalf of the Defendant  
The Cleveland Clinic Foundation.

ALSO PRESENT:

Gwen Holler

- - - -

1                    SATORU NAKAMOTO, M.D., of lawful  
2                    age, called by the Plaintiff for the purpose of  
3                    cross-examination, as provided by the Rules of  
4                    Civil Procedure, being by me first duly sworn,  
5                    as hereinafter certified, deposed and said as  
6                    follows:

7                    CROSS-EXAMINATION OF SATORU NAKAMOTO, M.D.

8                    BY MR. KAMPINSKI:

9                    Q.    Would you state your full name, doctor?

10                  A.    Satoru Nakamoto.

11                  Q.    You're going to have to spell that, please.

12                  A.    S A T O R U, that is my first name.    Last name  
13                  is N A K A M O T O.

14                  Q.    Doctor, I am going to ask you a number of  
15                  questions this morning.    If you don't understand  
16                  any question I ask you tell me, I'll be happy to  
17                  rephrase it for you,

18                  A.    All right.

19                  Q.    When you answer my questions **do** so slowly so she  
20                  can take down everything you say and do so  
21                  verbally, all right?    She's can't take down a  
22                  nod of your head, okay?

23                  A.    I'll try.

24                  Q.    Okay.    Doctor, you've got some notes in front of  
25                  you.

1 A. Yes.

2 Q. What are those?

3 A. Oh, those are the notes when I reviewed part of  
4 these medical record and I put down to what I  
5 provided, that's my testimony.

6 a. When did you make those notes?

7 A. Yesterday.

8 Q. All right. So you went through the chart  
9 yesterday?

10 A. No, only part of it, not from page to page, only  
11 part of what I thought I was involved in the  
12 case.

13 Q. Okay. Could I see that, please?

14 A. Sure. Please.

15 They are just dates and certain numbers,  
16 just to make sure I am not guessing at numbers.

17 MR. KAMPINSKI: Will you make us a  
18 copy of this?

19 MR. GORE: Sure. I can't here,  
20 but I will later.

21 Q. Doctor, when did you get involved in the  
22 treatment of Mr. Carriclc?

23 A. On February 12, 1980 -- I'm sorry, April, I'm  
24 sorry.

25 No. April 12th, 1980, the day after he had

1 operation.

2 Q. And when you say operation, you are talking  
3 about the parathyroidectomy?

4 A. That is correct.

5 Q. You had never seen him before?

6 A. Never.

7 Q. Had you ever consulted with anybody regarding  
8 his condition before?

9 A. Never.

10 Q. When you got involved with him on April 12th,  
11 1989 what was the reason that you got involved?

12 A. Well, at that time I was what we call hospital  
13 service. In other words, in our department two  
14 doctors from the department are assigned to  
15 hospital service for at that time four weeks,  
16 and everybody takes a rotation, and when this  
17 patient came in the hospital I was or happened  
18 to be assigned to the hospital.

19 So then those two doctors do take care of  
20 all of our admission patients from our  
21 department.

22 Q. When you say your department, are we talking  
23 about --

24 A. Hypertension and nephrology.

25 Q. Okay,

1 A. And all the consultations from other  
2 specialities.

3 Q. All right. I'm sorry.

4 A. So then sometime I may see a patient as an  
5 outpatient. Then a patient may come in the  
6 hospital two weeks later and I am the attending  
7 for him, but if I am not on the hospital service  
8 then my associates will take over the care in  
9 the hospital.

10 Q. I see. So the fact that Dr. Heyka had seen Mr.  
11 Carrick on a previous admission didn't  
12 necessarily mean that he was going to see him at  
13 this admission?

14 A. That is correct. In other words, sometime,  
15 indeed, I may see the patient two weeks before  
16 his admission. I happen to be on hospital  
17 service, then I will see this patient.

18 Q. I got you,

19 A. Otherwise after four weeks it would be whoever  
20 is on rotation. Now, if patient stay off of my  
21 service, then another doctor, my associate will  
22 take over even though I probably saw the patient  
23 first before admission, but last half maybe  
24 transferred to some other doctor.

25 Q. Who was the other physician from your department

1           who was --

2   A.   I cannot remember.

3   Q.   All right. Presumably it would have been Dr.  
4       Heyka, probably, he probably would have seen  
5       him?

6   A.   That's right. In other words, whoever is the  
7       primary service, that doctor happened to be in  
8       the hospital, obviously he would go to that  
9       service.

10   Q.   Did you then become the attending physician once  
11       the surgery was completed?

12   A.   Well, no. The decision of the surgery,  
13       operation itself and the post-care after surgery  
14       are the primary responsibility of a surgeon, and  
15       I'm just as a secondary service, just for  
16       whatever I think, you know, appropriate  
17       procedure should be taken, and I may discuss  
18       with the surgeon, but the surgeon is the primary  
19       responsibility.

20   Q.   So he remained the attending then throughout the  
21       remainder --

22   A.   Primary responsibility is the surgeon, no  
23       question about it.

24   Q.   When you got involved in Mr. Carrick's care  
25       because you were on the hospital service did you

1           then review his records?

2   A.   Yes.

3   Q.   Did you review the records from --

4   A.   Well, you -- go ahead.

5   Q.   Did you review his records from Lakewood  
6       Hospital?

7   A.   No, no.. I didn't.

8   Q.   Did you review Dr. Riley's records?

9                   MR. GORE:    You have to say  
10       something out loud.

11  A.   I'm sorry. I did not. I did not, you know,  
12       review Dr. Riley's either.

13  Q.   Have you ever reviewed them?

14  A.   Never.

15  Q.   All right. Have you ever reviewed the Lakewood  
16       Hospital records?

17  A.   Never.

18  Q.   Have you --

19  A.   See, the reason for --

20                   MR. GORE:    Just answer the  
21       question, doctor.

22  Q.   Go ahead. You can explain why you didn't.

23  A.   Well, because Dr. Heyka is, you know, is a well  
24       qualified nephrologist and associate and that is  
25       his decision, and he called the surgeon and then



1           they decide to go ahead with the operation, and  
2           this is the best way to go.

3 Q.   Well, yes. My question though is, when you took  
4           over his care, I mean, did you make an effort to  
5           become acquainted with his history?

6 A.   Oh, yes.

7 Q.   Which would have included the --

8 A.   Operation and chemistry, yes.

9 Q.   No.

10                   MR. GORE:     bet him finish the  
11           question, doctor.

12 Q.   -- which would have included his history before  
13           coming to the Clinic at Lakewood Hospital and  
14           his treatment with his physician before that  
15           time, Dr. Riley?

16 A.   No. But I did not do that from a page to page.  
17           The reason for that is whatever complication  
18           developed after the operation was really not  
19           much I could do from what already is done. So I  
20           sort of, the best way to do is to treat the best  
21           what I can do after I took over.

22 Q.   Okay. What complications after surgery are you  
23           talking about?

24 A.   I think an. infection was probably number one and  
25           probably he died of it, although there is no

1 autopsy. So we cannot really tell you exactly  
2 what happened. There are some many mysteries,  
3 there is no autopsy.

4 Q. Well, he had sepsis, didn't he?

5 A. Yes.

6 Q. And who was treating him for that?

7 A. That was, I reviewed that part because I was  
8 involved. So then over here, April 14th we did  
9 the dialysis. Then April 15th, also, we did a  
10 second dialysis. Then he became quite ill, and  
11 according to my chart I said this is most likely  
12 sepsis. So temporarily we started antibiotics  
13 and we promptly called an infectious  
14 consultation.

15 However, he became so ill that we could not  
16 provide appropriate care on the regular hospital  
17 ward. So he was transferred to surgical  
18 intensive care unit.

19 Q. Would you have continued --

20 A. Now, when they send a transfer to any intensive  
21 care unit in this hospital, and probably other  
22 hospitals as well, the entire care of that  
23 patient will be up to the intensive care  
24 specialist because they know the best. So then  
25 we still follow and provide dialysis treatment,

1 but the rest is all in the hands of the  
2 intensive care specialist.

3 Q. Well, why did you start dialysis, doctor, on  
4 April 14th?

5 A. Well, April 14th he became ill despite of  
6 medical intervention after the operation, and he  
7 had evidence of a hyponatremia.

8 Q. I'm sorry?

9 A. Hypo, hyponatremia.

10 Q. That means --

11 A. That means Low sodium concentration.

12 MR. GORE: Hyponatremia.

13 A. Sodium concentration was low, and he had a high  
14 BUN, in other words, you know, evidence of renal  
15 failure.

16 Q. How high was the BUN?

17 A. Let's see. It was 200.

18 Q. And that's pretty high, isn't it, doctor?

19 A. Yes, that is.

20 Q. And is that an indication to do dialysis when  
21 you have a RUN that high?

22 A. Well, BUN itself is a not absolute indication  
23 for the dialysis, but it would help with the  
24 decision of dialysis.

25 Q. Okay. What else?

1 A. And, of course, he had metabolic acidosis,

2 Q. And how could you tell that?

3 A. Manifested by the low sodium -- I mean low  
4 bicarbonate concentration, which was according  
5 to this it was 10.6. Normal is about 24.

6 Q. Anything else?

7 A. Those three, and the excess fluid we wanted to  
8 take out by dialysis.

9 Q. And dialysis would provide a means of what?

10 A. Well, dialysis --

11 MR. GORE: Let him finish the  
12 question, doctor, and then you can answer.

13 MR. RAMPINSRI: I was finished.

14 MR. GORE: Oh. You were?

15 A. I was ready to answer, I suppose.

16 MR. GORE: Fine.

17 A. **Well**, dialysis for a chemist is maybe separation  
18 **of** the chemical from a solution, the art of  
19 separating, maybe that was dialysis.

20 But for a physician it would be the removal  
21 or purifying blood by means of artificial  
22 kidney. So then whatever the excess molecule in  
23 the blood goes through the pores of a membrane,  
24 and then it will come out of something like a  
25 high concentration going down to the lower

1 concentration outside of a membrane, and so then  
2 the dialysis really does not cure any disease.

3 I think that is a misconception of most  
4 people. Dialysis is just gaining of time, wash  
5 out the poison but don't produce any new  
6 tissue. If somebody have a bacterial infection  
7 in the bloodstream, dialysis will not take out  
8 the bacteria. It is too big to go through that  
9 hole, but just for gaining of time.

10 But fortunately for somebody that has only  
11 kidney problem, the waste products, then  
12 dialysis is a life saver, and we have hundreds  
13 of patients in this country that live on  
14 dialysis.

15 Q. Do you have any opinion, doctor, as to whether  
16 or not Mr. Carricle should have had dialysis  
17 before his surgery?

18 A. Well, I'm not an expert witness, so I do not  
19 want to -- that should be answered by Dr. Heyka.

20 Q. But I am asking you. You have reviewed the  
21 records. I'm asking you if, in your opinion,  
22 Mr. Carricle should have had dialysis before  
23 undergoing surgery?

24 A. Yes. Sometime even with the BUN on this patient  
25 we may use dialysis if we see that another

1        indication is there. So then in this case  
2        probably dialysis was not needed then before the  
3        operation.

4                Now, after the operation and complication  
5        developed, two or three factors is important,  
6        not only BUN, so we started dialysis.

7                But I have to tell you, when I went to his  
8        room on the 14th, that was April 14th, I told  
9        the patient and his family, I think three ladies  
10       were there, I am really not sure whether it was  
11       his mother or mother-in-law, and probably the  
12       patient's sister and probably his wife, they are  
13       so much upset against the dialysis. They said  
14       you are not my doctor, Dr. Heyka is the doctor,  
15       I said that's right.

16               So I explained to them what the possible  
17       complication if we don't do the dialysis today.  
18       So I called in Dr. Heyka and the family  
19       discussed about it. I don't know what the  
20       discussion went on.

21               So then I think if I remember correctly  
22       April 14th first dialysis, we did it rather in  
23       late afternoon or early evening. We usually  
24       decide what we are going to do sometime early  
25       morning to early afternoon. So family were very

1 much against the dialysis.

2 Q. But when you recommended that they do it they  
3 did it?

4 A. Yes. I think I wrote down the situation that I  
5 explained to the patient and the family.

6 Q. Sure. There was no recommendation to do  
7 dialysis by Dr. Heyka before the surgery, was  
8 there?

9 A. Obviously not. I mean, I was not involved. So  
10 I cannot say, but I did not go through page by  
11 page. So I cannot tell you whether he  
12 recommended. But all I know is that the family  
13 was very much upset about dialysis,

14 Q. You said that with the increased BUN and other  
15 indications dialysis would be appropriate. What  
16 other indications?

17 A. Well, as I told you, the hyponatremia and the  
18 metabolic acidosis, and the excess water, plus  
19 BUN.

20 Q. So you need all of those to --

21 A. No, not necessarily. Sometimes we do that only  
22 for the water because of a kidney problem,  
23 drinking a lot of water, everything is okay, but  
24 just take the water out. So every individual  
25 patient is in a different way.

1 Q. What's -- what effect does Indocin have on  
2 someone with kidney problems?

3 A. Well, I'm really not sure I should answer that  
4 question.

5 MR. GORE: If you have an answer,  
6 doctor, go ahead.

7 A. You know, I mean, I am not involved in this area  
8 of patient care. Of course, Indocin can damage  
9 the kidney.

10 Q. Doctor, with somebody who has kidney problems,  
11 is it inappropriate for a physician to prescribe  
12 Indocin for a period in excess of 10 years, in  
13 your opinion --

14 MR. FIFNER: Objection.

15 Q. -- to a reasonable degree of medical certainty?

16 MR. FIFNER: Objection.

17 MR. GORE: He is objecting for the  
18 record, doctor. You can go ahead and answer the  
19 question, doctor, if you have an answer.

20 A. It's a difficult question to answer. If I was a  
21 physician, I will follow the kidney function  
22 very carefully, and if that's the only medicine  
23 that helps the patient. Sometimes, you know, a  
24 group of medicine may not help and in the  
25 instance of 15, 20 people are treatable. Some



1 patients it helps, and in that case I try to  
2 use, you know, say some other medicine first,  
3 and if that does not work, then I will probably  
4 try it.

5 But as I say, I will follow the kidney  
6 function very carefully, and if there is any  
7 evidence of damage to the kidney, then that is  
8 more important than whatever the Indocin is  
9 treating, then I will discontinue. It's a, you  
10 know --

11 Q. If a BUN was rising throughout the time that you  
12 were providing such a drug --

13 A. Yes, But BUN itself is really not a thorough  
14 indication of a renal function.

15 Q. How about creatinine?

16 A. Creatinine is again, creatinine, anybody can  
17 form creatinine in the muscle. So if somebody  
18 have a wasting of muscle, then creatinine may be  
19 better. It might be a misreading. It's a very  
20 difficult question.

21 So I have to see the patient, you know, but  
22 the BUN and the creatinine are usually  
23 considered indications of a kidney problem. You  
24 have to do what they call an infiltration rate,  
25 but that's a very cumbersome task.

1 Q. If a physician --

2 A. I will not do that everytime a patient comes  
3 into my office.

4 Q. You're a nephrologist, right?

5 A. Yes, I'm a nephrologist.

6 Q. Is that the appropriate specialty for somebody  
7 who has kidney problems?

8 A. Indocin?

9 Q. No, no. Is a nephrologist the appropriate  
10 person, physician who should be seeing a patient  
11 who has kidney failure?

12 A. Yes. It is definitely appropriate. But a large  
13 number of patients are followed by what they  
14 call an internist, I'm sure.

15 Q. I'm sure, too. And if, in fact, someone is  
16 showing progressive kidney failure, and a  
17 physician who is not a nephrologist is treating  
18 him with a toxin such as Indocin, which is  
19 according to the PDR contraindicated when used  
20 in conjunction with somebody having kidney  
21 failure, should that physician get a consult, in  
22 your opinion, from a nephrologist before doing  
23 that --

24 MR. FIFNER: Objection.

25 Q. -- or while continuing to do that --

1 MR. FIFNER: Objection. Objection  
2 to the form.

3 Q. -- to a reasonable degree of medical certainty?

4 MR. FIFNER: Same objection.

5 MR. GORE: Do you understand the  
6 question, doctor?

7 A. I understand the question, but I think this is  
8 something that I am going against somebody as an  
9 expert witness. I don't want to be in that  
10 position, you know.

11 I will do it, but I provided only about, we  
12 performed the service, and I, because I am not  
13 an expert witness, I don't think it is really  
14 appropriate maybe to discuss, but I was not even  
15 involved. If the physician ask me to see the  
16 patient for the first --

17 Q. Do you know Riley?

18 A. I know him very well.

19 Q. How do you know him?

20 A. He was trained over here and he probably was  
21 maybe one of my students a short time. He  
22 started dialysis, yes.

23 Q. He's not a nephrologist, is he?

24 A. Well, nephrologist is the only definition of  
25 having a board. I don't know if he has a board

1 in nephrology or not. I have no idea.

2 Q. Have you talked to him about this case?

3 A. No, not at all. None of my business. I see no  
4 reason why I have to discuss.

5 Q. Did you talk to him at the time that you treated  
6 Mr. Carricle?

7 A. No. As I said, the primary care was provided by  
8 Dr. Broughan and the surgeon and Dr. Heyka was  
9 involved. So I see no reason why I had to. But  
10 if, of course, he died under my ward, not in the  
11 intensive care unit, then, of course, I would  
12 tell him what happened, but that is the end of  
13 my --

14 Q. But that didn't happen?

15 A. Because like I say, he died in the intensive  
16 care unit. So then I assume the intensive care  
17 specialist or Dr. Heyka or Dr. Broughan maybe  
18 speak to him.

19 Q. Has Dr. Riley referred patients to you in the  
20 past?

21 A. I think recently he had -- the past, yes, very  
22 small number, because he's almost the same kind,  
23 you know, in speciality, so really --

24 Q. How recently?

25 A. I can't give you. --

1 Q. I mean, are we talking about in the last year?

2 A. Oh, no, no. I think probably last case is maybe  
3 10 years.

4 Q. 10 years ago?

5 A. 10 years ago, I think-

6 Q. And nothing since that time?

7 A. No.

8 Q. I'm sorry, nothing?

9 A. Not as a personal referral. I am sure he has  
10 been sending to our department, you know, but. he  
11 has not sent his own patients to me personally,  
12 private physician.

13 Q. Doctor, could you tell me what pages, the pages  
14 are numbered, that your notes are on?

15 A. Yes.

16 MR. GORE: And when he says what  
17 pages, doctor, he is referring to these numbers  
18 up here.

19 A. Okay. I said the 14th, so I have to see that.

20 The one over here, 899, but not signed.

21 Q. Okay.

22 A. Because I did that.

23 Q. You are saying you got an entry, but it doesn't  
24 have your signature?

25 A. Right. This is my handwriting.

1 Q. And that's on April 14th?

2 A. April 14th.

3 Q. bet me look at that first.

4 A. Yes.

5 Q. Is that the first one?

6 A. No, no.

7 Q. That's --

8 A. 893.

9 Q. And that's April 12th?

10 A. Yes.

11 Q. And this is your signature down here at the  
12 bottom?

13 A. Yes, that's my signature.

14 Q. All right. What I'd like you to do, doctor, is  
15 read that for me, read your note for me, and  
16 this is your first note?

17 MR. GORE: Now, doctor, she is  
18 going to have to take it down. So please read  
19 slowly.

20 A. S/P, meaning after operation. PTX means  
21 parathyroidectomy+ Sodium calcium level fairly  
22 well controlled with calcium and Vitamin D  
23 supplement. Has developed hyponatremia.  
24 Parentheses, 122, parentheses. Most likely not  
25 enough replacement sodium chloride

1 postoperatively.

2 Plan, check urine, sodium chloride and the  
3 potassium. Switch IV to at least normal saline  
4 solution. Bicarbonate down to the 10, may need  
5 sodium bicarbonate IV, but wait until serum  
6 calcium level well controlled. Otherwise may  
7 develop pretachypnea, that mean convulsion.

8 Q. What, in your opinion, caused the hyponatremia,  
9 doctor?

10 A. It looked like more water went in than the  
11 sodium,

12 Q. During the operation?

13 A. During or even postoperatively. I did not go  
14 through, you know, the detail of it.

15 Q. The input and output you mean?

16 A. Well, not only input/output, but what kind of a  
17 solution was replaced.

18 But another most common complication of  
19 hyponatremia is related to the operation and the  
20 postoperative care.

21 Q. All right. So what did you do for that?

22 A. Well, that's what I said, it was the suggestion  
23 over here, so I said check the urine, sodium and  
24 the chloride and the potassium. That way you  
25 know how much patient is losing.

1           Okay. We are giving 10 serum, the patient  
2           is losing 15 in the urine, and then we know we  
3           have to give out at least 15 to maintain  
4           whatever the level is.

5 Q. Okay.

6 A. So that's the reason why.

7 Q. All right. What is your next note, doctor?

8 A. Well, we say switch those IV solution at least  
9           to the normal saline, I mean whatever the normal  
10          sodium concentration is. That means .9 percent  
11          serum solution.

12 Q. .9?

13 A. Yes. That equals about 150 milligram  
14          equivalent.

15 a. Go ahead.

16 A. And then but the bicarbonate is low, but if we  
17          give too much bicarbonate then the blood pH will  
18          shift to what is called the alkaline side. If  
19          we do that, then the serum calcium will farther  
20          go down.

21               Now, because of a parathyroid operation he  
22          has already low side of serum calcium. So I say  
23          it is fairly well controlled. So if we give  
24          just lots of bicarbonate where somebody have a  
25          low serum calcium, then more calcium will be



1        anticipated. So then it's called active  
2        calcium, ionized calcium we call it, will go  
3        down and that the patient will prevent the  
4        convulsion.

5                So even though it is low, if somebody have  
6        a low serum calcium, just we cannot give them  
7        lots of bicarbonate, then it will make the  
8        patient sicker other than help him. That's what  
9        I recommended.

10    Q. You recommended what?

11    A. I say do not give too much bicarbonate.

12    Q. I see.

13    A. Switch to the normal saline after this. We  
14       don't want to give him too much salt because it  
15       will become really an overdose. So it's a very  
16       difficult situation, you know, from the start.

17    Q. So then what happened to Mr. Carrick and what  
18       did you do?

19    A. Then the 14th, as I said, we may not be able to  
20       wait anymore, we ordered dialysis, although  
21       dialysis will not cure the disease, but we have  
22       to gain the time.

23                I see sodium went farther down to 119 and  
24       bicarbonate remained about 10.6, about same, and  
25       he had or remained high BUN, 200. So I said,

1       you know, possible serious complication from  
2       this condition itself.

3   Q.   Which condition?

4   A.   Whatever he is in.   In other words, high BUN and  
5       hyponatremia, he may go into convulsion if we  
6       don't do anything, and the BUN may be causing  
7       pericarditis and massive GI bleed and so forth.  
8       So I said just waiting from this point to try to  
9       treat him conservatively may not be really the  
10      right way to go.

11               So I went down to his room.   I think at  
12      that time if I remember correctly he was in the  
13      surgical ward.   Surgical, all the postoperative  
14      patients would be primarily under the surgeon.  
15      So I explained to them --

16   Q.   Are you reading now from your note or are you  
17       doing this by memory?   Why don't you read me  
18       your note, first, of April 14th?

19   A.   Okay.   Yesterday -- This is Page 899.  
20       Yesterday's chemistries obviously wrong. The  
21       reason for it is the 13th, everything they said,  
22       the sodium was 141 and the potassium was 3.9,  
23       and so I say this must be wrong because one day  
24       it go up and the next day it come down.   So  
25       chances are it isn't right, meaning the blood

1 chemistry report he had, it might be wrong.

2 Q. Meaning the ones that were done on the 14th?

3 A. Yes. So I said maybe it could be somebody  
4 else's blood, and at this a.m. K-P 6, sodium  
5 119, potassium 5.2, bicarbonate 10.6, BUN 200,  
6 creatinine 6.2.

7 Q. Just so I understand, you believed that the  
8 laboratory levels for April 13th were incorrect  
9 as they related to --

10 A. That's my guess.

11 Q. Because they just didn't make sense with respect  
12 to his clinical picture?

13 A. Didn't make sense and he was basically getting  
14 sicker. Despite .9 percent saline infusion he  
15 still had hyponatremia. Because of  
16 hyponatremia, metabolic acidosis, BUN, serum  
17 calcium is low. So I said urgent dialysis is  
18 needed to correct the above abnormality.  
19 Situation explained to the patient and wife, but  
20 I forgot to sign my signature.

21 Q. Okay. Is that when *you* have a recollection of  
22 talking to the family?

23 A. That's exactly right. See, I usually will go in  
24 and explain because they say he is fine, but  
25 they have an objection, I explained the

1 situation, if we wait from this point there  
2 really is nothing gained.

3 Q. So you called Dr. Heyka and they agreed?

4 A. Well, family requested. The family said you're  
5 not my doctor. My doctor is Dr. Heyka and Dr.  
6 Broughan. So I said yes, okay, you know, I  
7 understand what they say, because a brand new  
8 doctor comes in and say I want to take over and  
9 do drastic things, I say sure.

10 So I called Dr. Heyka and then I told Dr.  
11 Heyka what the situation is. Then he get on the  
12 telephone to the family and then they discuss  
13 and then I left the room,

14 Q. Did you ever tell the family that you had never  
15 seen a case like this before?

16 A. Maybe I said it.

17 Q. Well, what did you mean when you said that?

18 A. Well, that means, you know, just despite of  
19 giving a solution it just never corrected, it is  
20 getting worse, and the pictures are getting  
21 worse.

22 Q. Well, did you tell the family that had his  
23 condition been treated appropriately and  
24 diagnosed earlier by doctor -- by the earlier  
25 physician that it could have been corrected?

1 A. I don't know. I cannot remember.

2 Q. You're not saying you didn't say that, you just  
3 can't remember it?

4 A. I cannot remember, yes. I usually do not make  
5 that --

6 Q. When did you find out that Dr. Riley was the  
7 previous physician, at what point?

8 A. Gee, I --

9 Q. Was it after the lawsuit had been filed?

10 A. No, no, One of the, special fellow told me  
11 that Dr. Nakamoto, we have a patient outside, I  
12 said outside from who, and he said an outside  
13 doctor referred the patient to Dr. Heylca, and so  
14 probably I said who is the outside doctor, and  
15 the special fellow might have said maybe Dr.  
16 Riley, and I said oh.

17 Q. Would that have been before or after you spoke  
18 to the family or do you know?

19 MR. FIFNER: Objection.

20 A. That must --

21 Q. I don't want you guessing if you don't know.

22 A. I don't know. It must be from the 12th to the  
23 14th. I saw him on the 14th. But I cannot  
24 remember.

25 Q. All right. What's the next thing that was done

1 and what was your involvement from that point  
2 on?

3 A. Well, then we gave a dialysis that evening. I  
4 may be able to tell over here.

5 Yes. My guess was right then. We start  
6 the dialysis 7:25 p.m. on April 14th.

7 Q. Okay.

8 A. So as I said, we usually start in the morning or  
9 early afternoon, but because of the family --  
10 and then the 15th we gave him another dialysis,  
11 and then that afternoon, where was that? I  
12 thought I had a 15th. The 14th.

13 Oh, yes. Okay. Yes, on the 15th --

14 Q. What page are you referring to now, doctor?

15 A. 903. So we gave the dialysis on the 15th. I  
16 think we did it in -- yes, in the morning,  
17 starting at 11:30. But despite the dialysis the  
18 patient's condition getting worse. So I saw the  
19 patient and I made a note over here April 15th,  
20 a nine gap is increasing.

21 Q. A nine gap?

22 A. Delta gap. That means the metabolic acidosis.  
23 There is two reasons more. One is bicarbonate  
24 itself is really not changing.

25 Q. Okay.

1 A. And the other one is the bicarbonates are  
2 changing, but you have a, you know, other acid  
3 is really increasing.

4 Q. Okay.

5 A. So that mean, you know, four or five in a  
6 different condition can cause that. So it's a  
7 very, very serious condition when you see that  
8 usually.

9 Q. Which one did he have, where it was or wasn't  
10 changing?

11 A. So I said a gap nine is increasing. Metabolic  
12 acidosis. Two possibilities, sepsis and/or  
13 lactic acidosis secondary to ischemic bowel, B O  
14 W E L. RLB, distended bowel but no fluid  
15 level. Chest x-ray, right-sided infiltrate.

16 So then I thought for more the infection.

17 Q. Because he had pneumonia?

18 A. I suppose that was the picture. So I said  
19 antibiotics, Clindamycin and Achromycin after  
20 obtaining a culture, blood in the sputum.

21 Q. So you got, you started antibiotics and you got  
22 an infectious disease consult?

23 A. That's right. And at the same time I think that  
24 early morning after midnight on April 15th he  
25 began to have, you know, respiratory problems.

1        So he was transferred to the surgical intensive  
2        care unit for the respiratory support and the  
3        rest of the condition and the infectious people  
4        took over,. and I think that night they said  
5        probably vancomycin, the third medicine, that  
6        was the recommendation.

7        Q. All right. Did you see him any further after  
8        that?

9        A. Yes, I think I saw him one more over here, I  
10       thought. Already he is in the intensive care  
11       unit. So maybe I wrote a note.

12                Okay., On April 16th, try to keep us --

13        Q. What page, doctor?

14        A. 922. I say try to keep the serum calcium about  
15        6 milligram percent, calcium glauconite IV.  
16        Still remains severe metabolic acidosis. Last  
17        bicarbonate order three plus 10. This a.m. the  
18        K-P 6 are pending. Chest x-ray, right lower  
19        infiltrate. Sputum, we have already sputum  
20        result which we got on previous day. Gram  
21        stain, gram positive coccus. Culture pending,  
22        Because of ileus most of the medicine including  
23        the calcium and the Vitamin D, maybe they will  
24        be not observed.

25        Q. What ileus?



1 A. Ileus mean the bowel is all swollen up, it is  
2 not properly functioning.

3 Q. What was causing that, doctor?

4 A. That could be caused by multiple causes.

5 Q. Well, what?

6 A. Such as hyponatremia or some blocking off part  
7 of the intestine, kink, it is all kind of --

8 Q. What is --

9 A. But later on he had a GI workup and obviously  
10 that was not there, I think, if I remember  
11 correctly. But that was something we have to  
12 consider. I am more concerned about whatever  
13 intake of medicine, if medicine is not working  
14 right, we assume it is going into the system.  
15 That's the reason I said, be careful, serum  
16 calcium --

17 Q. He had been given Dialume, are you aware of  
18 that, as a medication?

19 A. No.

20 Q. You weren't aware of that?

21 A. Well, during hospital? He cannot -- was he  
22 taking it in the hospital?

23 Q. Yes.

24 A. But, I mean, you know --

25 Q. But what? He shouldn't have been getting

1 Dialume, should he?

2 A. Why not?

3 Q. Well, should he have been getting Dialume?

4 A. Sure. We give him Dialume if it is needed.

5 Q. What does Dialume cause, doctor?

6 A. Oh, you are talking about like toxicity?

7 Q. Yes.

8 A. That doesn't come on in a couple days.

9 Q. It must be a long time?

10 A. It must be long time. Rut the acid excretion is  
11 there and that's the only medicine, it does not  
12 have a magnesium, doesn't have a calcium. So if  
13 that's the only medicine that will help I will  
14 give it, but as I said, I will watch the patient  
15 very carefully. I won't say that Dialume is a  
16 toxin.

17 Q. Well, could that have caused the locks in his  
18 colon that you believe --

19 A. You mean ileus?

20 Q. Yes.

21 A. I don't think so.

22 Q. Well, what was causing what you called to be an  
23 ileus?

24 A. Well, as I explained to you, other causes.

25 Q. But they were ruled out?

1 A. Right. Sometimes we really don't know.

2 Q. I see. What caused, in your opinion, his  
3 postoperative pneumonia?

4 A. What caused?

5 Q. Yes.

6 A. Well, I think because of uremic compromise.

7 Q. Because of uremic --

8 A. Compromise and very poor general condition.

9 Just like if somebody had -- kidney patients all  
10 have a tendency to get all kinds of infections.  
11 Not only that, it is very difficult to treat.

12 Q. So it was his weakened neurological state that  
13 you believe caused his pneumonia, the fact that  
14 he was uremic, for example?

15 A. Well, lots of uremic patients don't get  
16 pneumonia. Uremia probably contributed, but I  
17 cannot say one hundred percent, because we have  
18 so many patients who are uremic and don't get  
19 pneumonia,

20 Q. So he was so bad --

21 A. I think so.

22 Q. And usually when you get patients I guess to  
23 treat they are not in as a depressed condition  
24 as he was?

25 A. That's right, yes. Uremia is a part of it, but

1 I cannot say uremia one hundred percent. He was  
2 a sick man. It was unfortunate complication,  
3 one after the other, and all of them very  
4 serious complications, and all the experts are  
5 in there but they could not --

6 Q. Yes. Dr. Bolton or Broughan, I'm sorry,  
7 testified that his bone disease should have been  
8 recognized by the treating physician much  
9 earlier than it was. Do you disagree with that?

10 MR. FIFNER: Objection, Objection  
11 to the form.

12 A. Well, if at all possible, you know, all uremic  
13 patients should be treated, but in that case  
14 nobody really gets sick. So I am really not.  
15 sure.

16 Q. I'm sorry?

17 A. All possible complications should be treated  
18 early enough --

19 Q. I'm asking you if you disagree with Dr.  
20 Broughan's statement that his bone disease, Mr.  
21 Carrick's bone disease should have been  
22 recognized by the treating physician much  
23 earlier than it was, Do you agree with that,  
24 doctor?

MR. FIFNER: Same objection.

1 Q. Yes or no, sir, or don't you have any opinion?

2 A. I will say yes, depending again on the  
3 condition.

4 Q. So you don't have an opinion on that one way or  
5 the other?

6 A. I have to see the patient myself, and if I see  
7 that somebody is breaking bone here and here but  
8 everything else okay --

9 Q. Well, we know everything wasn't okay with **Mr.**  
10 Carrick.

11 A. Yes. Otherwise, he wouldn't have died.

12 Q. All right. The problems that Mr. Carrick were  
13 having were related to his increasing lack of  
14 kidney function, were they not, to renal  
15 failure?

16 A. What problem are you talking about?

17 Q. Well, you name one that brought him to the  
18 hospital. The problems, the muscle problems,  
19 the bone problems, they were all related, were  
20 they not, to increasing kidney failure?

21 A. Yes, that is true.

22 Q. All right.

23 A. But I thought you maybe are weeding out one  
24 special problem and --

25 Q. Well, I am not sure I can. Is there some

1           problem that he had that you believe that wasn't  
2           ultimately related to his kidney problem?

3   A.   No.

4   Q.   They were all related to his kidney problems,  
5        weren't they?

6   A.   Oh, yes.   But, you see, not everybody is like  
7        that.

8   Q.   Oh, I agree with you there.

9   A.   That is something we have to go after.

10   Q.   If you treat them right they don't die, do they?

11                   MR. GORE:    Objection.

12                   MR. FIFNER:   Objection.

13   Q.   If they get treated appropriately right from the  
14        start the chances are that they won't die?

15                   MR. FIFNER:   Objection.

16                   MR. GORE:    Objection.

17   A"   I won't say that either, because many patients  
18        we treat appropriately but still die.

19   Q.   Well, the vast majority of patients treated  
20        appropriately right front the start don't die, do  
21        they?

22                   MR. FIFNER:   Objection.

23   A.   Yes.   I mean, if everything, if the treatment  
24        they have they don't die, but still --

25   Q.   Yes.   And a man the age of Mr. Carriclc

1 ultimately would have been a very good  
2 candidate, would he not, for kidney transplant?

3 A. If he ever become stable condition, yes, he  
4 would be a candidate. But when he came to -- I  
5 mean when I saw him on April 12th I had no way  
6 we could do the transplantation.

7 Q. I agree,

8 A. I don't think nobody even mentioned that to the  
9 patient, I don't think so.

10 Q. You said earlier that if you give Indocin that  
11 you have to monitor the --

12 A. Kidney function, yes.

13 Q. And that would be what, serum creatinine, blood  
14 pressure, protein and urine?

15 A. Yes.

16 Q. And if those rise, would you stop the  
17 medication?

18 A. Yes. Soon after we start the medicine, yes.  
19 But in so many years then I think probably maybe  
20 something else is going on. But, you know --

21 Q. Well, if you give somebody poison for 10 years,  
22 I mean do you expect that that is going to have  
23 any effect on that?

24 MR. FIFNER: Objection to the  
25 form.

1 A. Yes. But how many patients have a problem with  
2 Indocin, how many percent?

3 Q. I'm not sure.

4 A. Not one hundred percent,

5 Q. I see. So that it is okay then --

6 A. Not okay. I didn't say okay. But we have to  
7 follow carefully. It is doctor's judgment.  
8 Otherwise Indocin would be thrown out, I mean,  
9 thrown from the -- the FDA say don't give. But  
10 if I remember correctly --

11 Q. Wait a minute. Let's go slow, Are you talking  
12 about Indocin to patients having kidney disease  
13 or patients in general?

14 A. No. Kidney disease. If I remember correctly,  
15 FDA said if somebody have a kidney failure  
16 suspected, must be taken carefully under the  
17 guidance of a doctor. I did not really see that  
18 thing, but if I remember correctly that is what  
19 it says.

20 Q. You lost me. Your point is what?

21 A. My point is that despite of a kidney failure,  
22 I'm sure a good number of patients are probably  
23 getting Indocin, okay?

24 Q. Do you know what kind of doses they are getting  
25 and for how long?



1 A. I have no idea. But the FDA says that if you  
2 have a kidney problem, just make sure special  
3 doctor -- I mean a doctor will follow you  
4 carefully, that the medicine is working on him  
5 okay. So if absolutely one hundred percent  
6 kidney patients all going through the trouble,  
7 then, you see, doctor cannot use Indocin for the  
8 renal failure.

9 Q. Well, that's not what you use Indocin for.

10 A. As far as I know there is no such statement came  
11 out of the FDA.

12 Q. No. You don't use Indocin for renal failure, do  
13 you?

14 A. As I said, if somebody have a renal failure,  
15 then you use the Indocin carefully if  
16 indicated. Don't give it right at go.

17 Q. What do you use it for?

18 A. Well, if somebody have gouty pain or joint pain  
19 and so forth.

20 Q. What is allopurinol?

21 A. Well, I am not sure I have to answer that  
22 question.

23 Q. Well, sir, you can't just answer the ones you  
24 want to answer. Sometimes you got to answer the  
25 ones I want you to answer.

1 MR. GORE: If you know what  
2 allopurinol is, go ahead and answer the  
3 question.

4 A. Well, Dr. Heyka say already.

5 Q. Did you read his deposition?

6 A. Yes, I read it.

7 Q. I see. What other depositions have you read?

8 A. That's the only one I read.

9 Q. Did you read Dr. Riley's deposition?

10 A. No, not at all.. Only Dr. Heyka.

11 Q. And allopurinol is the treatment of choice, is  
12 it not, for gout?

13 A. But may not take the pain out. Then you still  
14 have to give something to take the pain out.  
15 See?

16 Q. I see.

17 A. Gout and uric acid may not be one hundred  
18 percent under control. So you have gout, too.

19 Q. When did you read Dr. Heyka's deposition?

20 A. Oh, that was, when was that?

21 MR. GORE: I can't testify.

22 A. Oh, you can't.

23 MR. GORE: As best you recall,

24 A. I think about 10 days ago.

25 Q. Would you agree with Dr. Heyka's testimony that

1           he would not give Indocin for an extended number  
2           of years in a patient with renal failure, do you  
3           agree with that?

4   A.   In general, yes.

5                       MR. FIFNER:    Objection.

6   Q.   Do you agree --

7   A.   In general, not one hundred percent.

8   Q.   Sure.  If you see a rise in serum creatinine,  
9           blood pressure, protein, would you stop the  
10          Indocin, would you agree with that?

11                   MR. FIFNER:    Objection.

12  Q.   Would you agree with that, sir?

13  A.   If I know what his primary kidney disease is.  
14          If somebody have a problem or whatever the  
15          disease is, Indocin may not be appropriate, then  
16          high blood pressure may be due to the nephritis,  
17          and the protein is probably high due to the  
18          nephritis.

19  Q.   Excuse me, doctor, because I don't want you to  
20          confuse me.  But what was the high blood  
21          pressure in Mr. Carricle due to probably?  It was  
22          probably due to his renal failure, wasn't it,  
23          sir?

24  A.   That's right.

25  Q.   So let's talk about, you know, what we know

1       about here as opposed to, you know, things that  
2       we don't know about.

3   A.   But we don't know what the exact cause of the  
4       renal failure was. That's the problem.

5   Q.   Well, gee, in your opinion, should somebody have  
6       done some tests then to determine what the  
7       primary cause of his renal failure was?

8               MR. FIFNER:    Objection.

9   A.   Well, we don't know.

10   Q.   My question is should somebody have done some  
11       tests to try to determine what the cause was?

12               MR. FIFNER:    Objection.

13   A.   Yes.

14   Q.   And that wasn't done, was it?

15               MR. FIFNER:    Objection.

16   A.   I don't know. I did not review the chart.

17   Q.   Did you receive any other information other than  
18       just reviewing Dr. Heyka's deposition?

19   A.   That's it.

20   O.   Well, I mean, did you read any deposition  
21       summaries of any kind?

22   A.   Not at all. Only Dr. Heyka's and part of a  
23       chart which I was involved.

24   Q.   You read Page 922. Any others?

25               And I'm sorry. I may have interrupted you

1       in reading your note. If I did, why don't you  
2       finish it.

3                   MR. GORE: Did you finish this  
4       note?

5   A.   Okay. I say, I say in the meantime, in other  
6       words, we are talking about still serum calcium,  
7       okay? So then I said, you know, what we think  
8       just giving calcium supplement and the Vitamin D  
9       through the mouth is maybe not working. So I  
10      said as long as he has an intestinal problem,  
11      just to give the intravenous calcium supplement  
12      so we know the exact thing is going into the  
13      system, that's what I said.

14   Q.   Okay. Anything else?

15   A.   No, that's it.

16   Q.   Any further notes, doctor?

17   A.   Let's see.

18               Well, it is already the 17th. So I  
19      suppose, you know, he went to the surgical.  
20      intensive care unit, So what I wrote is  
21      possibly dialysis. Let's see.

22   Q.   Let's go slow. I mean you have been reading  
23      your progress notes, correct?

24   A.   Yes.

25   Q.   Are there any additional progress notes?

1 A. I doubt very much because they transferred --

2 Q. I understand. They transferred him to the  
3 SSCU. My question is did you write any?

4 A. Well, I did not really review the chart after  
5 that. But that is the whole responsibility of  
6 somebody else.

7 MR. GORE: Why don't you take a  
8 quick look and see if you wrote any other  
9 progress notes.

10 A. Yes. No, I cannot -- I cannot -- as far as I  
11 can tell --

12 Q. Now, you were a minute ago going to refer to  
13 dialysis notes you think that you may have  
14 written?

15 A. Well, dialysis notes --

16 Yes, I had April 27th, that is Page 823.

17 Q. Okay. Why don't you just help me through one of  
18 these so that I can interpret it and see what  
19 I'm looking at.

20 A. See, this is individual dialysis --

21 Q. Okay.

22 A. -- sheet will be kept on the dialysis unit.

23 Q. Okay.

24 A. And then April 27th I was the doctor probably  
25 responsible for the dialysis.

1 Q. Why don't you read it for me, if you would?

2 A. This sheet will tell the patient's weight before  
3 and after dialysis, vital signs, blood pressure,  
4 temperature.

5 Q. Well, where does it tell his weight before and  
6 after?

7 MR. GORE: Before and after the  
8 vital signs.

9 A. This time he was too sick.

10 Q. To weigh him?

11 A. Yes. So we scratch out.

12 Q. In other words, there is a box there to  
13 determine what his weight is before and after?

14 A. That's right.

15 Q. On this particular day there is nothing in there  
16 because you couldn't do it is what you are  
17 saying?

18 A. We thought that it was best not to do because he  
19 was already breaking bone at that time.

20 Q. How would he get back and forth to dialysis, by  
21 cart **or** bedside?

22 A. No. He had only two dialysis on our inpatient  
23 dialysis unit. But any patient who is acute in  
24 the intensive care unit is medical/surgical  
25 care, and we always do the dialysis at the

1 bedside in that case.

2 Q. I'm sorry. Go ahead.

3 A. And then the type of kidney, how many hours we  
4 had to dialyze, weight loss, and then how we  
5 heparinized, what kind of dialysis solution we  
6 used.

7 Q. And then it has got lab work, what does that  
8 say?

9 A. And the lab work, same 10 cc of blood. Pre and  
10 the post dialysis for further study. That means  
11 was it working properly.

12 Q. Under medications, what does that say?

13 A. Well, he was going into convulsion,

14 Q. I am sorry?

15 A. Seizure,

16 Q. Impression?

17 A. Impression.

18 Q. JM?

19 A. No. This is the name of antibiotic, a  
20 penicillin.

21 Q. Toxicity convulsion?

22 A. Yes. And so I said no other way and then, you  
23 know, I stained it.

24 In other words, this time it was to remove  
25 the penicillin maybe too excessive because of



1       renal failure. That is suspected, question  
2       mark.

3       Q. So the purpose of that particular dialysis was  
4       to try to remove excessive penicillin?

5       A. Yes. Because he began to have focal seizures.

6       Q. All right. Any others that you wrote, doctor?

7       A. No.

8       Q. Okay. Did you have any further discussions or  
9       contacts with the patient or his family that you  
10      can recall?

11      A. I don't. Well, I saw the patient's family at  
12      the intensive care unit, but, you know, I was  
13      not really the primary service. So I don't  
14      think -- the very serious conversation was on  
15      the April 14th, patient and the family strongly  
16      opposed the dialysis. I said, you know, if we  
17      don't do that probably this will happen, and it  
18      probably would be best to do, but the family  
19      said you are not our doctor.

20      Q. We got that conversation. Any others?

21      A. No.

22                   MR. KAMPINSKI: All right. That's  
23      all I have.

24                   MR. GORE: Gentlemen?

25                   MR. FIFNER: No. I don't have

1 anything.

2 MR. GORE: Thanks, doctor.

3  
4 SATORU NAKAMOTO, M.D.  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga.)

I, Susan M. Cebron, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named SATORU NAKAMOTO, M.D., was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this \_\_\_\_\_ day of \_\_\_\_\_, A.D. 19 \_\_\_\_.

Susan M. Cebron, Notary Public, State of Ohio  
1750 Midland Building, Cleveland, Ohio 44115  
My commission expires August 16, 1993

## LAWYER'S NOTES

[illegible]

# ARTER & HADDEN

1100Huntington Building  
Cleveland, Ohio 44115  
216/696-1100  
Telecopier 216/696-2645  
Telex 98 5384

November 26, 1990

25902/05990

Charles Kampinski, Esq.  
1530 Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113

Leslie J. Spisak, Esq.  
Reminger & Reminger Co., L.P.A.  
The 113 St. Clair Building, Suite 700  
Cleveland, Ohio 44114

Deirdre G. Henry, Esq.  
Weston, Hurd, Fallon, Paisley & Howley  
2500 Terminal Tower  
Cleveland, Ohio 44113-2241

RE: Carrick v. Cleveland Clinic

Counsel:

Enclosed are copies of the notations of Dr. Nokomoto which were referenced in the doctor's deposition for the above-captioned matter. The notations of Dr. Nokomoto include copies of the index cards which the doctor referred to during the deposition with regard to the care and treatment of Michael Carrick. Also included are copies of the original Cleveland Clinic medical record which contain Dr. Nokomoto's clinical sheet notations as well as the hemodialysis unit data for the date of 4/27/89. The pages from the original Cleveland Clinic medical record are numbered 823 and 824, 893, 899, 903, and 922. Thank you for your attention to this matter.

Sincerely,



Gwenn Holler, R.N., M.S.N.  
Legal Assistant

GCH/LL1/1082.2

Enc.

ccw/o enc: Doug Fifner, Esq. (Reminger)  
George Gore, Esq. (A&H)

IN COLUMBUS  
ARTER & HADDEN  
10 West Broad Street  
Columbus, Ohio 43215  
614/221-3155

IN DALLAS  
ARIEK & HADDEN  
1717 Main Street, Suite 4100  
Dallas, Texas 75201  
214/761-2100

IN LOS ANGELES  
ARTER, HADDEN,  
LAWLER, FELIX & HALL  
700 South Flower Street  
Los Angeles, California 90017  
213/629-9300

IN WASHINGTON, D.C.  
ARTER & HADDEN  
1919 Pennsylvania Avenue, N.W.  
Washington, D.C. 20006  
202/775-7100

Carvick, Michael

DOB (12/26/48) 409.0.

3/28/89 - OPD - Heyka.  
Admitt. PTH3551, cat 8, P4.7.

3/29/89 - Hospital transfer  
from Lake Wood.

ER no date.

Serum creat 4-5

BUN 150, creat 7.4.

Hgb 8.1 Hct 24.9 3/29/89  
Blood transfusion (5/4/89)

O/C 4/1/89.

4/4/89 - Braughan

Admitt 4/10/89

OPR 4/11/89 - PTX.

4/12/89 - Na ↓ 122 } Ca  
Co2 ↓ 10. } Titany.

4/13/89 - Sent by Heyka.

4/14/89 Na 119, Co2 10.6

Δ13 BON 200, Omt 12

Dialysis 4/14/89 #17 Total  
S.I.C.U. - 4/15. 4/15/89 5/16 #13  
#13 <sup>AT 25</sup> metabolic acidosis.  
CR - 0.48/1.1. Sepsis, Lenticular  
Rt infiltrate. Sepsis, Lenticular  
Midnight. Clinda, Gentamycin

4/16/89 - GI consult. distal  
obstruction

Infc. consult.

Clinda, Gentam  
Vanco.

4/16/89 8:15 am.

	Na.	K	CO <sub>2</sub>	BUN	Int
4/10	121	5.5	12	224	6.2
4/11	127	4.8	19.8	214	5.8
4/12	122	4.7	9.6	198	6.1
4/13	141	3.9	10.6		
4/14	119	5.2	10.6	200	6.2



Cleveland Clinic Foundation  
ACUTE HEMODIALYSIS UNIT DATA

Date 4-27-89 Dialysis # 5  
Patient: in gut Location C61 16  
Mode of Transport: amb w/c cart

1 473 492 7

823

CARRICK MR MICHAEL P  
MAXIMOTO

**WEIGHTS**

Pre            kg Pro            kg  
Lost            kg Post            kg  
+/-            kg +/-            kg  
Dry Weight:            kg

**VITAL SIGNS**

Time	Temp °C	P./K.R.	B.P. sitting	B.P. lying
Pre	<u>36.9</u>	<u>98</u>	<u>—</u>	<u>12/100</u>
Post	<u>37</u>	<u>116</u>	<u>—</u>	<u>16/100</u>

**ACCESSES** Dbl lumen

<input checked="" type="checkbox"/> Left	<input type="checkbox"/> Fistula	<input type="checkbox"/> Subclavian
<input checked="" type="checkbox"/> Right	<input type="checkbox"/> Graft	<input type="checkbox"/> Cannulation
<input checked="" type="checkbox"/> Arm	<input type="checkbox"/> Shunt	<input type="checkbox"/> Single Needle
<input checked="" type="checkbox"/> Leg	<input type="checkbox"/>	<input type="checkbox"/> 2 Needles

**MULTIPLE USE CHECKLIST**

<input checked="" type="checkbox"/> Bath	<input type="checkbox"/> Pos/Neg. Alarms on
<input checked="" type="checkbox"/> Dialyzer	<input type="checkbox"/> Air Bubble Detector armed
<input type="checkbox"/> K <sup>+</sup> infusion	<input type="checkbox"/> Blood Leak Detector set
<input checked="" type="checkbox"/> Heparin pump on & marked	<input type="checkbox"/> Heparin initial given

**SPECIAL BATH COMPONENTS**

<input checked="" type="radio"/> Bicarbonate	<input checked="" type="radio"/> Acetate
NaCl <u>          </u> gm	Lactic Acid <u>          </u> cc
KCl <u>          </u> gm	Dextrose <u>          </u> gm
HCO <sub>3</sub> <u>          </u> gm	Temp <u>          </u> °C
CaCl <sub>2</sub> <u>          </u> gm	
MgCl <sub>2</sub> <u>          </u> gm	

**DOCTOR'S ORDERS**

☒ HEMODIALYSIS ☒ SLOW CONTINUOUS ULTRAFILTRATION

Dialyzer Allegro Hours 3

Dry Wt.            kg Wt. Loss 1-1.5 kg

Heparin Pump I.U.F.            cc

Dialysate 3K HCO<sub>3</sub>

LABWORK

☒ Pre Same 10cc of blood

☐ Post for further study

☐ Hct.           

☒ Transfusion Today            units

Next Dialysis            units

MEDS. / Other Orders

? Imipenem toxicity

convulsions.

to to wt loss per

Dr A. Meeker / @ per

urgent sic physicians.

Physician Shaker Time            am  
pm

**FOR RE-USED DIALYZER ONLY**

Formalin PreRinse           

Formalin Post Rinse 1

Usage Number           

Verified by

Pro Assessment:  
Indo Pers - to Reestablish  
between Ind - Zolner Point  
of Anti J. - Green - C. Red  
Ind. Red - Red.  
Red. Red.

Patient Teaching:	
Initiated By:	Tech <i>[Signature]</i> Myers RN
Retransfusion By	Tech <i>[Signature]</i> Myers RN

Post Assessment:

Did I expect - US Str  
press + some h + gr  
press Henry again  
No Blue

DATE/SERVICE

- Cardiothoracic monitor  $Ca^{++}$  & 6 x 3<sup>rd</sup> d
- Cell H<sub>2</sub>O,  $Ca^{++} < 6.0$
- T $Ca^{++}$  glu  $\rightarrow$  3 amp/l at 0.75°
- ✓ for circumferential pericardial lesions, managed by glue
- Following CO<sub>2</sub> - 10 today
- ~~Ca<sup>++</sup> level MDN 71~~

4/12/89  
Renal

S/P PTX

Serum Ca level fairly well  
controlled & Ca + Vit D suppl.

Hx surgical hyperparathyroidism (122)  
Monthly not enough replacement  
of NaCl post op

Plan 1) Check this P<sub>4</sub> calc, K

2) Sustn IV to at least  
normal Saliv

3) H<sub>2</sub>CO<sub>3</sub> & 10. - may need  
NaHCO<sub>3</sub> IV but wait  
until serum  $Ca^{++}$  level  
well controlled. Otherwise,  
may precipitate today

She

DATE/SERVICE

4/14/89  
Renal

Yesterday's dialysis was obviously  
wrong. Arterially he's blood  
this morn K-P -6

(114) 9.5 213 2.0  
512 (10.6) 6.2

He's on 0.9 NaCl infusion, he  
still has & Na.

Because of ↓ Serum K<sup>+</sup>, ↓ Serum HCO<sub>3</sub><sup>-</sup>,  
↑ BUN & Serum Ca, urgent dialysis  
is needed to correct the above  
abnormalities.

Aldosterone replaced to its pt & wife

## INPATIENT DIALYSIS

TYPE

☒ HEMODIALYSIS☐ PERITONEAL DIALYSIS☐ SCUF/CAVH/CAVHD

INTENSITY

☐ SIMPLE (LEVEL I)☐ EXTENDED (LEVEL II)☐ COMPREHENSIVE (LEVEL III)SIGNATURE: M. Baker

metabolic acidosis  
hypokalemia  
hypocalcemia

DATE/SERVICE

ASPIRATION PICTURE.

DIALYSIS IS IN PROGRESS TO IMPROVE LYTES.

WILL ALSO ✓ ABG'S

✓ AMYLASE + LIPASE TO R/O ACUTE INTRA-ABDOMINAL  
PROCESS.

SPUTUM FOR Gm STAIN, C+S.

BLOOD CX x 2

DISCUSSED E 1<sup>o</sup> SERVICE + DIALYSIS FELLOW.

NG TO BE PLACED FOR ILEUS.

NPO

START CLINDA + GENT FOR ASPIRATION

Proach

4-15-89

CXR - shows @ lobe infiltrate  
 KUB + lateral decubitus - dilated  
 bowel, no free air  
 no air/fld levels  
 NGT inserted

Antoniuk

4/15/89

Reul

↑ Δ gap metabolic acidosis  
 2 possibilities. Sepsis and/or  
 lactic acidosis 2<sup>o</sup> to ischemic  
 bowel.

KUB - distended bowel @ fluid sand huf

CXR - Rt sided infiltrate

ATB's Clinda + Gent started  
 after obtaining culture (Blood  
 sputum)

Dialysis today

Stab

DATE/SERVICE

4/15/89

2. Gent 80 mg p each HD.

3. Clinda ~~100~~ 1000 26

Will advise on regimen after additional cultures become available

Trans Will follow -

*[Signature]*

Try to keep serum  $Ca^{++}$  7.6-11.9 %

Ca gluconate I.V.

last Hct 3<sup>rd</sup> 10.4%

Still remains severe metabolic acidosis

This Am's H-P-6 pending

CXR - RLL infiltrate

Proteins from Hair GPC's

Culture pending

on Vanco, Gent & Clinda

Because of illness, most of PO nutrients

including  $Ca^{++}$ , Vit D<sub>3</sub>. There ~~are~~

I.V. Vit D<sub>3</sub> (Calcijel) but it will

not help without Ca supp. In the

meantime, he'll need more of Ca

gluconate

*[Signature]*

4/15/89  
Renal.

## C U R R I C U L U M   V I T A E

Satoru Nakamoto, M.D.

Born: November 1, 1927 in Iwakuni, Yamaguchi, Japan

U.S. Citizen, 1963

Social Security 8074 30 2742

Married to Grace C. Maruo - Born: 9/20/31

Children - Dean Nakamoto                "     2/14/61

Donna Nakamoto " 6/20/63

David Nakamoto                      "                      6/9/68

Degrees: 1) M.D. The Yamaguchi Medical School, Japan in March 1951.

2) M.S. The University of Colorado in June 1959.

### Post Graduate Training:

1951-1952 ..... Internship at the U.S. Army Hospital, Japan.

1952-1953 ..... Resident in Medicine at the Yamaguchi Medical School  
Hospital in Japan.

1953-1954 ..... Rotating Internship at the Kuakini Hospital, Honolulu.

1954-1955 ..... Resident in Medicine at the Metropolitan Hospital  
New York Medical College, New York City.

1955-1956 ..... Fellow in Cardiology at the University of Colorado  
Medical Center, Colorado.

1956-1957 ..... Fellow in Research at the Cleveland Clinic Foundation  
Cleveland, Ohio.

1957-1958 ..... Resident in Medicine at the University of Colorado  
Medical Center, Colorado

1958-1960 ..... Special Fellow at the Dept. of Artificial Organs,  
Cleveland Clinic Foundation, Cleveland, Ohio,

Research :

Fellow in the Research Division of the Cleveland Clinic Foundation, mainly in Renal Disease and the Artificial Kidney at the Dept. of Artificial Organs headed by Dr. W.J. Kolff between 1958 and 1960. Participated organizing the Transplant Program at the Cleveland Clinic Foundation. Author and co-author of many scientific papers about Dialysis, Transplantation, etc.

Award: Science Research Award-Kidney Transplantation by the Interstate.  
Postgraduate Medical Association of North America in November 1965.

Books:

- 1) Contributor in Renal Failure published by Lippincote in 1967.
- 2) Contributor in manual on Artificial Organs, Volume I The Artificial Kidney published by Mosby in 1969.

Hospital Position:

Assistant Staff member of the Cleveland Clinic Foundation, 1961-1963.

Staff member of the Cleveland Clinic Foundation since 1964 to the present.

Head, Dept. of Hemodialysis, Cleveland Clinic Foundation since 1967.

Head, Section of Hemodialysis Dept. of Hypertension & Nephrology, 1971.

Senior Staff Member, Dep't of Hypertension and Nephrology, 1984

Emeritus Staff Member, January, 1991

Membership :

- 1) American Heart Association
- 2) American Medical Association
- 3) American Society of Artificial Internal Organs
- 4) American Society of Nephrology
- 5) European Dialysis & Transplant Association.
- 6) International Society of Nephrology
- 7) New York Academy of Science.
- 8) Ohio State Medical Association
- 9) Transplant Society.

Medical License:

- 1) Licensed in Virginia - December 4, 1959 #14240
- 2) Licensed in Ohio - June 16, 1961 824946
- 3) Licensed in Japan - October 10, 1951 #145819



## BIBLIOGRAPHY

SATORU NAKAMOTO, M.D.

1. Nakamoto, S. and Kolff, W.J.: Chronic Uremia Due to Polycystic Renal Disease Treated with the Artificial Kidney, *AMA Arch. Int. Med.* 101:921, 1958.
2. Holmes, J.H., Nakamoto, S. and Sawyer, K.C., Jr: Changes in Blood Composition before and after Dialysis with the Kolff Twin Coil Kidney, *Trans. Amer. Soc. Artif. Int. Organs.* 4:16, 1958.
3. Nakamoto, S., and Holmes, J.H. : Our Experience in Regional Heparinization, *Trans. Amer. Soc. Artif. Int. Organs*, 4:36, 1958.
4. Holmes, J.H. and Nakamoto, S., Removal of Fluid from the Patient during Hemodialysis, *Trans. Amer. Soc. Artif. Int. Organs.* 5:58, 1959.
5. Kolff, W.J., Nakamoto, S., and Humphrey, D.C.: Recovery from Anuria after Suffocation in Nitrogen Treatment with the Artificial Kidney, *Ohio State Med. J.*, 55:1230, 1959.
6. Nakamoto, S., and Kolff, W.J.: The Artificial Kidney for Acute Glutethizide (Doriden) and Barbiturate Poisoning; Report of Four Cases, *CCQ* 27:58, 1960.
7. Britton, R.C. and Nakamoto, S.: Intravenous Infusion of Dialysis, Autogenous, Ascitic Fluid in the Management of Cirrhotic Ascites; A Preliminary Report of Favorable Results in Six Patients, *CCQ* 27:82, 1960.
8. Goldsmith, J.H., Nakamoto, S., and Kolff, W.J.: Expanding the Indications for Treatment with the Artificial Kidney, *The Lancet* pp 111 (July 16) 1960.
9. Nakamoto, S. and Kolff, W.J.: Newer Advances Using Ultrafiltration and Regional Heparinization and Ultrafiltration of Ascites, *Acad. of Med. New Jersey Bull.* 6:5, 1960.
10. Eyschen, J.M., Nakamoto, S., and Kolff, W.J. : Neuromuscular Syndrome-After Electrolyte Disturbance and Severe Acidosis-Treatment with the Artificial Kidney, *Minnesota Med. Oct*, 1960, pp 693.
11. Nakamoto, S.: Removal of Edema Fluid by Ultrafiltration with the Disposable Twin-Coil Artificial Kidney; Report of Two Cases, *CCQ* 28:10, 1961
12. Geyer, J.R., and Nakamoto, S.: Artificial Hibernation; Report of Three Cases Including Two with Dialysis, *CCQ* 28:20, 1961.
13. Ragde, H., Nakamoto, S. and Kolff, W.J., Simultaneous Hemodialyses with Twin Coil Artificial Kidney, *JAMA* 176:668, 1961.
14. Nakamoto, S., Brandon, J.M., Franklin, M., Rosenbaum, J.L., and Kolff, W.J. Experience with A-V Shunt Cannulae for Repeated Dialysis, *Trans. Amer. Soc. Artif. Int. Organs* 7:130, 1961.
15. Nakamoto, S., Britton, R.C., and Kolff, W.J., Ultrafiltration of Ascites, *Trans. Amer. Soc. Artif. Int. Organs* 6:15, 1960.
16. Hindberg, J., Nakamoto, S., and Kolff, W.J., Anuria after Operation for Abdominal Aortic Aneurysm Treated by Hemodialysis with Complete Recovery, *Surgery* 50:755, 1961.

## Bibliography

S. Nakamoto, M.D.

Page 2

17. Brandon, J.M. , Nakamoto, S., Rosenbaum, J.L. , Franklin, M. , and Kolff, W.J., Experience with Periodic, Long ( $\pm$  20 Hours) Dialyses, Trans. Amer. Soc. Artif. Int. Organs 7:130, 1961.
18. Kolff, W.J., Nakamoto, S., and Scudder, J.P., Experience with Long-Term Intermittent Dialysis, Trans. Amer. Soc. Artif. Int. Organs 8:292, 1962.
19. Rosenbaum, J.L., Nakamoto, S. , and Kolff, W.J.: Artificial Kidney in Chronic Renal Failure , Postgrad. Med. J. , 32:585, 1962.
20. Brandon, J. M. , Nakamoto, S. , Rosenbaum, J.L. , Franklin, M. and Kolff, W.J. , Prolongation of Survival by Periodic Prolonged Hemodialysis in Patients with Chronic Renal Failure, Am J. Med. 33:538, 1962.
21. Sitprija, V., Nakamoto, S. and Kolff, W.J.: Hemodialysis in Obstructive Disease as a Preliminary to Urologic Diagnosis, J. of Urology 89:149, 1963.
22. Barber, N.D., Nakamoto, S., McCormack, L.J. and Kolff, W.J.: Pathologic Anatomy of 13 Patients after Prolonged Periodic Hemodialyses, Trans. Amer. Soc. Artif. Int. Organs 9:21, 1963.
23. Nakamoto, S., and Kolff, W.J.: The Uric Acid Anuria of Leukemia Treated by Dialysis, Ohio State Med. J. 59:1012, 1963.
24. Seto, D., Fritz, W., Nakamoto, S., and Kolff, W.J.: The Effect of Bilateral Nephrectomy and of Sodium and Water Content on Hypertension, Trans. Amer. Soc. Artif. Int. Organs 9:35, 1963.
25. Sanchez-Sicilia, L., Seto, D.S., Nakamoto, S., and Kolff, W.J.: Acute Mercurial Intoxication Treated by Hemodialysis, Ann. Int. Med. 59: 692, 1963.
26. Kolff, W.J., Seto, D., and Nakamoto, S.: Bilateral Nephrectomy and Changes in Sodium and Water Content in Hypertension, Excerpta Medica International Congress Series No. 78:374, 1963.
27. Versaci, A.A., Nakamoto, S., and Kolff, W.J.: Comparison of Twin Coil, Single Coil and Small Twin Coil Artificial Kidney, Trans. Amer. Soc. Artif. Int. Organs 10: 186, 1964.
28. Versai, A.A., Olson, K., McMain, P.B., Nakamoto, S., and Kolff, W.J.: Uremic Polyneuropathy and Motor Nerve Conduction Velocities, Trans. Amer. Soc. Artif. Int. Organs 10:328, 1964.
29. Hoffman, G.C., McMain, P.B. , and Nakamoto, S.: Erythropoietin Production Following Renal Homotransplantation, Trans. Amer. Soc. Artif. Int. Organs 10:418, 1964.
30. Nakamoto, S. , Figueroa, J.E., Versaci , A.A. , Straffon, R.A., and Kolff, W.J.: The Use of Kidneys from Cadavers for Renal Homotransplantation in Man, Trans. Amer. Soc. Artif. Int. Organs 10:247, 1964.
31. Versaci, A.A., Nakamoto, S., and Kolff, W.J.: Phenelzine Intoxication: Report of a Case Treated by Hemodialysis, Ohio State Med. J. 60:770, 1964.
32. Figueroa, J.E., Nakamoto, S., Poutasse, E.F., and Kolff, W.J., Renal Homotransplantation in Man: Report of Six Consecutive Cases, Ann Int. Med. 61: 188, 1964.

33. Kolff, W.J. , Nakamoto, S. , Poutasse, E.F. , Straffon, R.A. , and Figueroa, J.E. : Effect of Bilateral Nephrectomy and Kidney Transplantation on Hypertension in Man, Supp. II to Circulation 29 and 30:23, 1964.
34. Nakamoto, S. and Kolff, W.J.: Hemorrhagic Diathesis in Uremia and the Avoidance of Bleeding Problems during Dialysis, Ann N.Y. Acad. Sciences 115:348, 1964.
35. Nakamoto, S., Poutsse, E.F., and Kolff, W.J.: The Course of Renal Hypertension after Bilateral Nephrectomy, Ann N.Y. Acad. Sciences 120:607, 1964.
36. Seto, D., McCullagh, E.P., Nakamoto, S. and Kolff, W.J.: Diabetic Acidosis and Acute Renal Insufficiency: Acute Tubular Necrosis Treated by Dialysis Diabetes 14:36, 1965.
37. Dunea, G. , Nakamoto, S. , STraffon, R.A. , Figueroa, J.E., Versaci , A.A., Shibagaki, M. , and Kolff, W.J.: Renal Homotransplantation in 24 patients. Brit. Med. J. 1:7, 1965.
38. Nakamoto, S. , Straffon, R.A. , and Kolff, W.J.: Human Renal Homotransplantation with Cadaver Kidneys, JAMA 192:302, 1965.
39. Dunea, G. , Nakamoto, S., Straffon, R.A., and Kolff, W.J.: Kidney Transplantation, 1964, Arch. Int. Med. 116:234, 1965.
40. Stewart, B.H., Straffon, R.A., Hewitt, C.B., Kiser, W.S., Nakamoto, S. and Kolff, W. J. : Renal Homotransplantation with Cadaver Donors , Urology Digest 4:19, 1965.
41. Figueroa, J.E. , Nakamoto, S. , and Kolff, W. J. : Human Cadaver Kidney Transplantation: A Progress Report, Trans. Amer. Soc. Artif. Int. Organs. 11:213, 1965.
42. McMain, P.B. , Hoffman, G.C. , Nakamoto, S. , and Kolff, W.J.: Erythropoeisis and Renal Homotransplantation, The Canadian Med. Assoc. J. 93:241, 1965.
43. Nakamoto, S., Dunea, G., Kolff, W.J., and McCormack, L.J.: Treatment of Oliguric Glomerulonephritis with Dialysis and Steroids, Ann. Int. Med. 63:359, 1965.
44. Straffon, R.A., Nakamoto, S., and Kolff, W.J.: Clinical Experience with Renal Transplantation, Brit. J. Urol. 37:370, 1965.
45. Roenigk, H.H. Jr., Haserick, J.R., Nakamoto, S., and McCormack, L.J.: Systemic Lupus Erythematosus and Renal Transplantation: Report of Two Cases, Arch. Dermt. 92:263, 1965.
46. Nakamoto, S., Two Years' Experience with Renal Homotransplantation, Melsunger Medical Communication 39:64, 1965.
47. Figueroa, J.E., Antunex, A., Nakamoto, S., and Kolff, W.J.: The Scintigram after Renal Transplantation in Man, New Eng J. Med: 273:1406, 1965.
48. Kolff, W.J., Hewitt, C.B., Kiser, W.S., Nakamoto, S., Stewart, B.H., and Straffon, R.A.: The Treatment of Irreversible Renal Failure in Cleveland Present Status and Proposals for the Future, The Bulletin, 51:3, 1966.

49. Tchetchik, M., Nakamoto, S., and Kolff, W.J.: Reuse of Twin-Coil Disposable Artificial Kidneys with Their Priming Blood, JAMA, 196:5, 1966.
50. Beaudry, C., Nakamoto, S., and Kolff, W.J.: Uremic Pericarditis and Cardiac Tamponade in Chronic Renal Failure, Ann. Int. Med. 64:5, 1966.
51. Straffon, R.A., Hewitt, C., Kolff, W.J., Stewart, B., Nakamoto, S., and Kolff, W.J.: Clinical Experience with the Use of 79 Kidneys from Cadavers for Transplantation, Surg, Gyn & Ob 123:433, 1966.
52. Nakamoto, S., Wilbrant, R., and Kolff, W.J.: Renal Allogenic Transplantation in Human Utilizing Cadaver Kidneys, Symposium in Innsbruck am 26 und 27, 1965.
53. Kolff, W.J., and Nakamoto, S.: Progress in Dialysis, III Int. Congress of Nephrology - Abstracts.
54. Dunea, G., Nakamoto, S., and Kolff, W.J.: Current Status of Cadaver Kidney Transplantation, Urology Digest, Oct. 1966.
55. Alfidi, R.J., Meaney, T.F., Buonocore, E., and Nakamoto, S.: Evaluation of Renal Homotransplantation by Selective Angiography, Radiology, 87:6, 1099, 1966.
56. Khastagir, B., Shibagaki, M., Wilbrandt, R., Montandon, A., Nakamoto, S., Kolff, W.J.: Further Experience with Cadaver Kidney Transplantation. Trans. Amer. Soc. Artif. Int. Organs, 12:239, 1966.
57. Lewis, L., Zuehlke, V., Nakamoto, S., Kolff, W.J., and Page, I.H.: Renal Regulation of Serum Lipoproteins, New Eng. J. of Med. 275:1097, 1966.
58. Tchetchik, M., Nakamoto, S., Straffon, R.A., and Kolff, W.J.: Transplantation of Kidneys From Human Cadavers, Israel J of Med Science, 1:957, 1965.
59. Nakamoto, S., Straffon, R.A., and Kolff, W.J.: Three Year's Experience with Cadaver Kidney Transplantation, III International Congress of Nephrology - Abstract, 1966,
60. Gifford, R., Deodhar, S., Stewart, B., Nakamoto, S., Shibagai, M., and Kolff, W.J.: Retransplantation After Failure of First Renal Homografts JAMA 199:799, 1967.
61. Kolff, W.J., and Nakamoto, S.: Progress in Dialysis, Proc. 3rd Int. Congress Nephrol. 3:274, 1966.
62. Kolff, W.J., and Nakamoto, S.: Cadaver Kidney Transplantation Versus Dialysis, Excerpta Medica International Congress Series No. 137, 1966.
63. Nakamoto, S., Dunea, G., Muehrcke, R., and Schwartz, F.: Thrombotic Thrombocytopenic Purpura with Acute Anuric Renal Failure, American J of Med. 41, 1966.

77. Smith, E., Gifford, R., Nakamoto, S., Straffon, R., Tung, K., Deodhar, S., Vidt, D., and Humphrey, D.: Relationship Between Original Renal Disease and Fate of Renal Allografts. *Postgraduate Medicine*, 45, 1969.
73. Wilbrandt, R., Tung, K., Deodhar, S., Nakamoto, S., and Kolff, W.J.: ABO Blood Group Incompatibility in Human Renal Homotransplantation. *The American J of Clin. Path.* 51, 1, 1969.
79. Kuruvila, K.C., Magnusson, M., Popowniak, K.L. and Nakamoto, S.: Comparative Clinical Study of the Washing Machine, Twin-Coil, and Kiil Dialyzers for Home Dialysis, *Trans. American Society of Artificial Internal Organs* 15, 1969.
80. Nose, Y., Mrava, G.L., Weber, D., Kon, T., Nakamoto, S., Popowniak, K.L. and Kuruvila, K.C.: Clinical and Engineering Evaluation of Disposable Envelope Inserts for the Kiil Dialyzer. *Trans. American Society of Artif. Int. Organs*, 15, 1969.
81. Olsson, C.A., Bauditz, W., Kiser, W., Nose, Y., and Nakamoto, S.: Successful 24-hour Canine Kidney Preservation. *Journal of Urology* 102:386, 1969.
82. Egleston, T., Acchiado, S., Antunex, A., and Nakamoto, S.: I Hippuran in the Evaluation of Transplanted Kidneys. *Radiology* 93:1145, 1969.
83. Ackman, C., Atkinson, J., Barnes, B.A., Dossetor, J.B., Hume, D.M., Martin, D.C., Murray, J., Najarian, J.S., Reemtsma, K., Starzl, T., and Nakamoto, S.: Seventh Report of the Human Kidney Transplant Registry. *Transplantation* 8:721, 1969.
84. Ackman, C.F., Atkinson, J.C., Barnes, B.A., Dossetor, J.B., Hume, D.M., Martin, D., Murray, J.E., Najarian, J.S., Reemtsma, K., Starzl, T.E., and Nakamoto, S.: Transplantation, 8:729, 1969.
85. Magnusson, M., Johnson, W., Deodhar, S., Kiser, W.S., and Nakamoto, S.: Long Term Function of Canine Autograft Kidneys that had been Preserved Extracorporeally for Twenty four Hours. *CCQ* 37:139, 1970.
86. Magnusson, M.O., Kuruvila, K.C., Jarvis, P.E., Popowniak, K.L., and Nakamoto, S.: Clinical Experience in Home Dialysis with Three Different Artificial Kidneys- The Washing Machine, Twin-Coil and Kiil Dialyzer; *Proc. of European Dialysis and Transplantation*, 6:116, 1969.
87. Cascardo, S., Acchiardo, S., Beven, E.G., Popowniak, K.L., and Nakamoto, S.: Proximal Arteriovenous Fistula for Hemodialysis when Radial Arteries are Unavailable. *Proc. of European Dialysis Transplantation Assoc.* 7:42, 1970.
88. Deodhar, S.D., Konomi, K., Nakamoto, S., and Kuruvila, K.C.: Clinical Experience with Antilymphocyte Globulin (ALG) in Renal Transplantation. *Transplantation Proc.* 3:758, 1971.

64. Zuhlke, V., Deodhar, S., Nakamoto, S., and Kolff, W.J.: Serum Immunoglobulin Levels Following Human Renal Allotransplantation: A Preliminary Report. *Transplantation*, 5, 2, 1967.
65. Kiser, W., Straffon, R., Hewitt, C., Stewart, B., Nakamoto, S. and Kolff, W.J.: Clinical Experience with One Hundred Twenty-one Human Kindey Transplants. *The American Surgeon*, 33, 4, 1967.
66. Nakamoto, S., Straffon, R. and Kolff, W.J.: Three Years Clinical Experience with Cadaver Kindey Transplantation. *Transplantation*, 5,4, Part 2 of 2 parts, 1967.
67. Shimizu, A., Nakamoto, S., and Kolff, W.J.: Guide for Treating Patients by Chronic Dialyses with the Twin-coil Artificial Kidney, *CCQ* 34, 1967.
68. Nakamoto, S., Straffon, R., and Kolff, W.J.: Three Years' Clinical Experience with Kidney Transplantation. *Renal Failure* by Albert Brest, and John H. Moyer, M.D., 233-242, 1967.
69. Straffon, R., Kiser, W.S., Stewart, B., Hewitt, C., Gifford, R., and Nakamoto, S.: Four Years' Clinical Experience with 138 Kidney Transplants. *Transactions of the American Assoication of Genito-Urinary Surgeons*, 59, 1967.
70. Nakamoto, S., Erben, J., and Kolff, W.J.: "Make-it-Yourself" Artificial Kidney for at Home Dialysis; *Excerpta Medica International Congress Series, Proceedings of the Fourth Conference of the European Dialysis and Transplant Assoc. No. 155*, 392, 1967.
71. Kon, T., Williams, C., Venaleck, J., Nakamoto, S., Weber, Wildevuur, C., and Nose, Y.: Experimental Effect of Negative Pressure on Ultrafiltration in Hemodialysis. *CCQ*, 35:115, 1968.
72. Bauditz, W., Olsson, C., Kiser, W.S., Nose, Y., and Nakamoto, S: Twenty-four Hour Preservation of Canine Kidneys with Pulsatile Perfusion. *Trans. Amer. Soc. Artif. Int. Organs*, 14:134, 1968.
73. Konomi, K., Deodhar, S., Tung, K., and Nakamoto, S.: Immunosuppression with Antilymphocyte Globulin in Clinical Renal Transplantation. *Surg. Forum*, 1968.
74. Deodhar, S., Tung, K., and Nakamoto, S.: Renal Homotransplantation in a Patient with Primary Familial Oxalosis. *Arch. Path.* 37, 1969.
75. Popowniak, K.L., and Nakamoto, S.: Five Years of Clinical Experience with Cadaver Kidney Transplantation. *J. of Amer. Women's Assoc.* 23, 1968.
76. Khastagir, B., Montandon, A., Nakamoto, S., and Kolff, W.J.: Early and Late Failures of Human Cadaveric Renal Allografts. *Arch Inter Ned.* 123, 1969.

## Bibliography

Satoru Nakamoto, M. D.

Page 7

89. Popowniak, K.L. , and Nakamoto, S.: Immunosuppressive Therapy in Renal Transplantation. Surg. Clinics of North America, 51, 5, 1971.
90. Nose, Y. , Malchesky, P. , Nakamoto, S., Popowniak, K.L. , Myers, C.H., Wesely, S.A., Schmitt, G.W., Shalhoub, R.J., and Austin, C.: Clinical Evaluation of Disposable Kiil Envelope Insert. Proceedings Dialysis Transplant Forum. pg. 108, 1971.
91. Nakamoto, S.: Artificial Kidney: Past, Present, and Future. Proceedings of Japanese Artificial Dialysis Association, 4:2:181, 1971.
92. Nakamoto, S: Chronic Dialysis and Kidney Transplantation: Intensivmedizin. Band 9, Heft 1, Seite 39, 1972.
93. Braun, W.E. , Magnusson, M.O. , Nakamoto, S. , Popowniak, K.L. , and Kiser W.S.: Intraoperative Blood Transfusions and Hyperacute Renal Allograft Rejection in a Presensitized Recipient. Transfusion, 12:5:348, 1972.
94. Braun, W.E. , Staffon, R.A. , Nakamoto, S. , Popowniak, K.L. , Gifford, R.W. , Kuruvila, C. , and Zachary, A.A.: Mismatched HL-A Haplotypes with Antigens HL-A1, 3, and 11 Associated with Excellent Renal Allograft Function: Transplantation , 15:1:86, 1973.
95. Popowniak, K.L., Esselstyn, C.B., Jr., and Nakamoto, S.: Parathyroidectomy for the Treatment of Renal Osteodystrophy and Tertiary Hyperparathyroidism: A Progress Report. Surgical Clinics of North America, 54:325, 1974.
96. Banowsky, L.A. , Braun, W.E. , Mangusson, M.O., and Nakamoto, S. : Current Status in Adult Renal Transplantation at the Cleveland Clinic. The Journal of Urology, 111:573, 1974.
97. Braun, W.E. , Banowsky, L.H., Straffon, R.A. , Nakamoto, S. , Kiser, W.S., Popowniak, K.L. , Hewitt, C.B. , Stewart, B.H., Zelch, J.V., Magalhaes, R.L., Lachance, J.G., and Manning, R.F.: Lymphoceles Associated with Renal Transplantation: American Journal of Medicine, 57:714, 1974.
98. Nakamoto, S: Current Clinical Status of Human Renal Transplantation. The Journal of Japan Medical Association 73:904, 1975.
99. Malchesky, P.S., Surovey, B.S., Kiraly, R., Carse, C., Nakamoto, S., Hulsman, A, Vidt, D., and Nose, Y.: The Coiled Envelope: Medical Instrumentation, 9:129, 1975.
100. Braun, W.E., Crile, G. Jr., Graffon, R.A., Nakamoto, S., Isbister, W.H., Gifford, R., Deodhar, S., Khanna, R., and Banowsky, L.H.: Thymectomy and Splenectomy in Long-Term (6-11 years) Renal Allograft Recipients. Transplantation Proceedings , 7:1:1 , 1975
101. Wada, M., Minamisono, T., Fujii, H., Morita, T., Akamatsu, A., Mise, J., Nakamoto, S. , and Naito, K.H.: Studies on the Effect of Hemodialysis in Plasma Lipoprotein, Trans. Amer. Soc. Artif. Int. Organs, 21:464, 1975.
102. Popowniak, K.L., Nakamoto, S., and Magnusson, M.O.: Home Dialysis: Eight Years Experience. CCQ, 42:225, 1975.

103. Lewis, L.M., Flechtner, T.W., Kerkay, J., Pearson, K.H., Chen, W.T., Popowniak, K.L., and Nakamoto, S.: Determination of Plasticizer Levels in Serum of Hemodialysis Patients. *ASAIO* 23:566, 1977.
104. Minamisono, T., Wada, M., Akamatsu, A., Okabe, M., Handa, Y., Morita, T., Asagami, C., Naito, H., Nakamoto, S., Lewis, L., and Mise, J.: Dyslipoproteinemia (A Remnant Lipoprotein Disease) in Uremic Patients on Hemodialysis. *Clinica Chimica Acta* 84:163, 1978.
105. Lewis, L., Flechtner, T., Kerkay, J., Pearson, K., and Nakamoto, S.: Bis(2-ethylhexyl)Phthalate Concentrations in the Serum of Hemodialysis Patients. *Clinical Chemistry* 24:741, 1978.
106. Chen, W.T., Hu, C.H., Schilz, J.R., and Nakamoto, S.: In Search of "Psoriasis Factor(s)": A New Approach by Extracorporeal Treatment. *Artificial Organs* 2:203, 1978.
107. Yoder, S., Magnusson, M., Malchesky, P., Hulsman, A., and Nakamoto, S.: Comparison of Positive Pressure Ultrafiltration versus Negative Pressure Ultrafiltration in the Hollow Fiber Artificial Kidney. *ASAIO* 24:458, 1978,
108. Chen, W.T., Chaignon, Omvik, P., Tarazi, R.C., Bravo, E.L., and Nakamoto, S.: Hemodynamic Studies in Chronic Hemodialysis Patients with Hemofiltration/ Ultrafiltration. *ASAIO* 24: 682, 1978.
109. Malchesky, P.S., Piatkiewicz, W., Nakamoto, S., and Nose, Y.: Haemoperfusion made safe with sorbent membranes. *Dialysis Transplant Nephrology* 15:591, 1978.
110. Chen, W.S., Kerkay, J., Pearson, K.H., Paganini, E.P., and Nakamoto, S.: Tissue analysis of plasticizer in dogs. *Proc. Clin Dial Transp Forum* 8:113, 1979.
111. Paganini, E.P., Fouad, F., Tarazi, R.C., Bravo, E.L., and Nakamoto, S.: Hemodynamics of isolated ultrafiltration in chronic hemodialysis patients *ASAIO* 25:422, 1979.
112. Chen, W.S., Kerkay, J., Pearson, K.H., Paganini, E.P., and Nakamoto, S.: Determination of urinary Bis(2-Ethylehexyl)Phthalate levels in non-uremic subjects by gas chromatography. *Analytical Letters* 12:1501, 1979.
113. Chen, W.S., Rerkay, J., Pearson, K.H., Paganini, E.P., and Nakamoto, S.: Bis(2-Ethylhexyl)phthalate (DEHP) analysis in tissue, uremic subjects, hemodialysis. *Analytical Letters*, 12:1517, 1979.
114. Kerkay, J., and Nakamoto, S.: Plasticizers and the ur-mic patients An Editorial. *The International Journal of Artificial Organs*. 2:107, 1979.
115. Cnang, K., Paganini, E., Becker, J.M., Steck, W.D., Bailin, P.L., and Nakamoto, S.: Leukocyte counts and arterial blood gases in nonrenal psoriatic patients treated with extracorporeal devices: Preliminary report. *Proc. Dialysis Transplant Forum* 14:133, 1979.



116. Chen, W.S., Kerkay, J., Pearson, K.H., Paganini, E.P., Chang, K., Steck, W.D., Becker, J.M., Bailin, P.L., and Nakamoto, S.: Bis(2-3thylhexyl)phthalate level in nonuremic patients treated with extracorporeal devices. Proc. Dialysis Transplant Forum 14:189, 1979.
117. Paganini, E., and Nakamoto, S.: Continuous slow ultrafiltration in oliguric acute renal failure. Trans Am Soc Artif Intern Organs, 26:201, 1980.
118. Pechan, W., Novick, A.C., Braun, W., Nakamoto, S., Popowniak, K., and Steinmuller, D.: Management of end stage polycystic kidney disease with renal transplantation. J. of Urology 125:622, 1981.
119. Popli, S., Chen, W.T., Nakarmto, S., Daugirdas, J.T., Cespedes, L.E., and Ing, T.S.: Hemodialysis ascites in anephric patients. Clinical Nephrology 15:203, 1981.
120. Chaignon, M., Chen, W.T., Tarazi, R., Bravo, E.L., and Nakamoto, S.: Effect of hemodialysis on blood volume distribution and cardiac output. Hypertension 3:327, 1981.
121. Chaignon, M., Chen, W.T., Tarazi, R.C., Nakamoto, S., and Bravo, E.: Blood pressure response to hemodialysis. Hypertension 3:333, 1981.
122. Steck, W.D., Nakamoto, S., Bailin, P.L., Paganini, E.P., Chang, K., Becker, J., Matkaluk, R., and Vidt, D.G.: Hemofiltration treatment of psoriasis. Amer Acad of Derm 6:346, 1982.
123. Chaignon, M., Chen, W.T., Tarazi, R.C., Nakamoto, S., Salcedo, E.: Acute effects of hemodialysis on echographic-determined cardiac performance: Improved contractility resulting from serum increased calcium with reduced potassium despite hypovolemic-reduced cardiac output. American Heart Journal Vol. 103:374, 1982
124. Paganini, E.P., Flaquer, J., Whitman, G., Nakamoto, S.: Amino acid balance in patients with oliguric acute renal failure undergoing slow continuous ultrafiltration (SCUF) ASAIO Vol 28:615, 1982
- 1-25. Malchesky, PS, Ellis, P, Nosse, C, Magnusson, M, Lankhorst, 3., Nakamoto, S.: Direct Quantification of Dialysis. Dialysis & Transplantation, Vol II, No. 1, pp. 42-49, January 1982
124. Braun, WE, Phillips D., Vidt D., Novick AC, Nakamoto, S., Popowniak, K., Magnusson, M., Pohl, M., Paganini, E., Steinmuller, D, Protiva, D., Buszta, C: The Course of Coronary Artery Disease in Diabetics With and Without Renal Allografts. Transplantation Proceedings, Vol. XV, No. 1 (March), 1983.
127. Foutcn GP, Carey WD., Tabor E., Cianflocco AJ., Nakamoto S., Smallwood LA, Gerety XJ.: Concomitant Hepatitis B Surface Antigen and Antibody in Tgirteen Patients. Annals of Internal Medicine, Vol. 99; No. 4 October 1983.

## Bibliography

Satoru Nakamoto, M.D.

Page 10

128. Steinmuller, D. , Novick, A. , Braun, W. , Vidt, D. and Nakamoto, S. : Renal Transplantation of Patients on Chronic Peritoneal Dialysis. *American Journal of Kidney Disease* 8:436, 1984
129. Ellis, P. , Malchesky, P. , Magnusson, M. , Goormastic, M. and Nakamoto, S. : Comparison of Two Methods of Kinetic Modeling. *Trans Am Soc Artif Intern Organs* 30:60, 1984
130. Paganini, E. , O'Hara, P. and Nakamoto, S. : Slow Continuous Ultrafiltration in Hemodialysis-Resistant Oliguric Acute Renal Failure Patients. *Trans Am Soc Artif Intern Organs* 30:173, 1984
131. Klimas, V. , Denny, K. , Paganini, E. , Graor, R. , Nakamoto, S. and Young, J. : Low Dose Streptokinase Therapy for Thrombosed Arteriovenous Fistula. *Trans Am Soc Artif Intern Organs* 30:511, 1984
132. Khauli, R. , Novick, A. , Steinmuller, D. , Braun, W. , Nakamoto, S. , Vidt, D. , Goormastic, M. , Magnusson, M. , Paganini, E. , Schreiber, M. and Buszta, C. : Patient Survival and Rehabilitation of Diabetics with End Stage Renal Disease: Comparison of Therapeutic Modalities. *Transplant Proc* 17:178, 1985
133. Nakamoto, S. : An Anatomy of an Artificial Kidney Unit. *Japanese J of Clinical Dialysis* 1:1251, 1985
134. Ellis, P. , Sterin, G. , Fatica, K. , Bodnar, D. , Nakamoto, S. , Paganini, E. and Magnusson, M. : Obesity and Body Size as Predictors of Noncompliance. *Council on Renal Nutrition Quarterly* 9:9, 1985
135. Khauli, R. , Steinmuller, D. , Novick, A. , Buszta, C. , Goormastic, M. , Nakamoto, S. , Vidt, D. , Magnusson, M. and Paganini, E. A Critical Look at Survival of Diabetics with End Stage Renal Disease: Transplantation vs Dialysis Therapy. *Transplant* 41:598, 1986
136. Paganini, E. , Suhoza, S. , Swann, S. , Golding, L. and Nakamoto, S. : Continuous Renal Replacement Therapy in Patients with Acute Renal Dysfunction Undergoing Intraaortic Ballon Pumping and/or Left Ventricular Device Support. *Trans Am Soc Artif Intern Organs* 32:414, 1986
137. Khauli, R. , Novick, A. , Steinmuller, D. , Buszta, C. , Nakamoto, S. , Vidt, D. , Magnusson, M. , Paganini, E. and Schreiber, M. Comparison of Renal Transplantation and Dialysis in Rehabilitation of Diabetic End Stage Renal Disease Patients. *Urology* 27:521, 1986
138. Nakashima, Y. , Fouad, F. , Nakamoto, S. , Texter, S. , Bravo, E. and Tarazi, R. Localization of Autonomic Nervous System Dysfunction in Dialysis Patients. *Am J Nephrol* 7:375, 1987
139. Nakamoto, S. Continuous Arteriovenous Hemofiltration: Overview. *J of Jap Soc for Dialysis Therapy* 21:91, 1988