

IN THE COURT OF COMMON PLEAS

SUMMIT COUNTY, OHIO

- - -

KATHLEEN LAVERICK, etc., )

Doc. 133

Plaintiff, )

vs. )

CASE NO. 85-3-0860

CHILDREN'S HOSPITAL MEDICAL

CENTER OF AKRON, et al., )

Defendants. )

- - -

Deposition of HOWARD S. NEARMAN, **M.D.**, a  
Witness herein, called<sup>by</sup> by the Plaintiff for  
cross-examination pursuant to the Rules of Civil  
Procedure, taken before me, the undersigned, William S.  
Bish, an RPR/CM and Notary Public in and for the State of  
Ohio, at University Hospital, Suite 2400, 2074 Abington  
Road, Cleveland, Ohio, on Wednesday, the 17th day of  
December, 1986, at 1:00 o'clock p.m.

COMPUTERIZED TRANSCRIPTION BY  
BISH & ASSOCIATES, INC.  
524 Society Building  
Akron, Ohio 44308  
(216) 762-0031

## APPEARANCES :

On Behalf of the Plaintiff:

Messrs. Tomberg & Tomberg, P.A.

By: Jeff Tomberg, Attorney at Law  
and  
Thomas L. Spall, Attorney at Law  
P.O. Drawer EE  
626 S.E. 4th Street  
Boynton Beach, Florida 33435

On Behalf of the Defendant Anesthesia for  
Children, Inc.:

Messrs. Jacobson, Maynard, Tuschman &  
Kalur

By: Thomas H. Terry, Attorney at Law  
and  
Jerome Kalur, Attorney at Law  
100 Erieview Plaza  
Fourteenth Floor  
Cleveland, Ohio 44114

- - -

1                   HOWARD S. NEARMAN, M.D.  
2   of lawful age, a Witness herein, having been, first duly  
3   sworn, as hereinafter certified, deposed and said as  
4   follows:

5                   CROSS-EXAMINATION

6   BY MR. TOMBERG:

7       Q.           State your full. legal name and your  
8   professional address.

9       A.           Howard Sloman Nearman, 2074 Abington Road,  
10   University Hospitals of Cleveland, Department of  
11   Anesthesiology, Cleveland, Ohio 44106.

12      Q.           Dr. Nearman, my name is Jeff Tomberg and I  
13   represent Kathy Laverick as the personal representative  
14   or Administrator of the Estate of Clarissa Laverick,  
15   deceased. I'm going to ask you some questions today  
16   concerning the care and treatment received by Clarissa  
17   Laverick at Children's Hospital Medical Center of Akron  
18   during March and April of 1984. The purpose of these  
19   questions is not to trick you or confuse you but to find  
20   out what information that you have and what information  
21   that you're going to render as far as testimony is  
22   concerned in this case.

23                   If you do not understand a question I  
24   would ask you to tell me **no**, because if you answer the  
25   question I'm going to assume that you understood the

1 question. If you want a question repeated, Mr. Bish, the  
2 Court Reporter, will be happy to read it back to you.

3 Also I'd ask that you answer all questions  
4 audibly with verbal responses as opposed to "uh-huh" or  
5 "huh-uhs" because we have a disagreement sometimes with  
6 what the machine takes down. Is that okay?

7 A. Yes.

8 Q. Okay. Can you give me your date of birth,  
9 sir?

10 A. December 3rd, 1948.

11 Q. And what type of profession are you in?

12 A. I am an anesthesiologist.

13 Q. Okay. And where are you licensed?

14 A. In the State of Ohio.

15 Q. Okay. Is this your curriculum vitae?

16 A. Yes, it is.

17 MR. TOMBERG: Okay. Any objection if we  
18 attach it to the record for purposes of trial or  
19 discovery?

20 MR. TERRY: None.

21 BY MR. TOMBERG:

22 Q. Are you a Board certified anesthesiologist?

23 A. Yes, I am.

24 Q. And how long have you been Board certified?

25 September -- no, sorry, excuse me. I think

1 it was October of 1983.

2 Q. And Doctor, what is the sig- --

' 3 A. I'm also -- excuse me.

4 Q. Okay.

5 A. It's not on my CV, not yet updated, but I  
6 also have just passed the Boards for special  
7 certification in critical care, September, '86.

8 Q. Okay. And what is critical care?

9 A. Critical care -- good question. Critical  
10 care is the -- is the field of medicine that is a  
11 multi-disciplinary specialty that each of the general  
12 specialties, that is, surgery, medicine, anesthesia and  
13 pediatrics have now come to recognize as a specialized  
14 branch within their own field, and are now in the process  
15 of licensing people who have special expertise and  
16 training in critical care, the care of the critically ill  
17 patient.

18 Q. Is that the area of anesthesiology that you  
19 specialize in, or is a sub-specialty of anesthesiology  
20 that you are participating in?

21 A. Yes.

22 Q. Okay. And what do you as an  
23 anesthesiologist have to do with critical care patients?

24 A. I am the Medical Director of the Surgical  
25 Intensive Care Unit at University Hospitals.

1 Q. Okay. And do you take care of all persons  
2 that have surgical problems?

3 A. I take care of all patients, I help to take  
4 care of all the patients in the Surgical Intensive Care  
5 Unit.

6 Q. Okay. And what qualifies someone as a  
7 Surgical Intensive Care patient?

8 A. Patients who are -- who need specialized  
9 monitoring that are not -- that is not available in a  
10 regular nursing floor. For instance, patients who have  
11 come from the operating room after having coronary artery  
12 by-pass surgery or major abdominal surgery, thoracic  
13 surgery, trauma patients, transplant patients who are in  
14 the -- who are in an unstable phase. Any patient, even  
15 after minor surgery, who may have had a complication in  
16 the operating room or have had bad heart disease before  
17 the operation, so we take care of people who are both --  
18 who are both sick and need specialized monitoring as well  
19 as prophylaxis for making sure that people don't get into/  
20 trouble immediately after their operation.

21 Q. What were the requirements for certification  
22 in the critical care area?

23 A. In -- excuse me, in anesthesia requirements  
24 were a specialized year of training after the anesthesia  
25 residency and/or having spent at least 50 percent of your

1 time taking care of critical care patients for two years  
2 after your training of anesthesia. I have done both.

3 Q. Okay.

4 A. As well as a test.

5 Q. That's a written and oral exam?

6 A. Just written. For the Anesthesia Boards  
7 it's written and oral. For the special certification in  
8 critical care it is written only.

9 Q. How much of your time is spent in Surgical  
10 Intensive Care?

11 A. Most of it.

12 Q. Okay. Is that involving maintaining people  
13 on life support systems?

14 A. Correct.

15 Q. Okay. Do -- are you actually involved in  
16 the surgical procedure or process itself?

17 A. Not usually.

18 Q. Although you've had specialized training in  
19 that particular field as an anesthesiologist?

20 A. Correct.

21 Q. Has most of your professional time, even  
22 with the anesthesiology background, been in the area of  
23 critical care?

24 A. Professional time meaning training --

25 Q. Professional time --

1           A.           -- or since training?

2           Q.           Well, after you received your basic training  
3 and residency, and I believe you said you went through an  
4 anesthesiology residency?

5           A.           Correct.

6           Q.           After that period of time you've been  
7 primarily involved in the critical care area?

8           A.           Correct.

9           Q.           Have you performed anesthesiology services  
10 for tonsillectomies and adenoidectomies?

11          A.           Yes, I have.

12          Q.           Can you tell us approximately how many?

13          A.           I would have to think. Probably in the area  
14 of 50, 60.

15          Q.           And that would be over the period of how  
16 many years, sir?

17          A.           Since -- since 1979, so it would be about  
18  
19  
20  
21  
22

23          Q.           Last four years?

24          A.           No.

25          Q.           So these 50 or 60 anesthesiology cases that



1 you handled as an anesthesiologist would have been during  
2 your residency and internship?

3 A. It -- yes, some after, but not many.

4 Q. Can you give any of the -- does this take  
5 into consideration all of your professional education in  
6 the area --

7 A. Yes.

8 Q. -- of medicine?

9 A. Yes, it does.

10 Q. Okay. Have you attended any type of  
11 seminars or specialty classes that have been provided by  
12 other institutions?

13 A. I go to national meetings, I end up usually  
14 giving seminars to other institutions.

15 Q. Okay. And that's in the area of critical  
16 care?

17 A. It's in all areas, anesthesia, critical  
18 care.

19 Q. What areas of anesthesia would you handle?

20 A. As -- as far as --

21 Q. As far as --

22

23 Q. -- lectures?

24 A. I've talked about anesthesia for the  
25 geriatric patient, I've talked about blood replacement,

1 fluid therapy in the operating room. I don't recall off  
2 the bat anything -- any of the other anesthesia related  
' 3 ones.

4 Q. Any anesthesia cases involving pediatrics?

5 A. No.

6 Q. Any post-graduate studies in the area of  
7 anesthesia and pediatrics?

8 A. No.

9 Q. By whom are you employed, sir?

10 A. I'm employed by University

11 Anesthesiologists, Incorporated.

12 Q. Okay. And that's at University Hospitals in  
13 Cleveland?

14 A. Correct.

15 Q. Okay. Are you associated with any  
16 particular school --

17 A. Yes.

18 Q. -- or university?

19 A. Yes.

20 Q. Which?

21 A. I'm with Case-Western Reserve University  
22 School of Medicine.

23 Q. And in what capacity?

24 A. I'm Assistant Professor of Anesthesia and  
25 Assistant Professor of Surgery.

1 Q. And what type of courses, if any, do you  
2 teach?

3 A. I teach first year medical students, the  
4 pre-clerkship patient based program once a week.

5 Q. It's a what?

6 A. The pre-clerkship patient based program.

7 Q. What is that?

8 A. That -- that is a program that introduces  
9 first year students to clinical training, the types of  
10 things that one sees when one gets out of the book phase  
11 into the ward phase, how to interview patients, how to  
12 interact with patients, the basic essentials of patient  
13 interviewing, history taking.

14 We talk about things like the types of  
15 cycle, life cycles that patients go through, anywhere  
16 from birth through what happens with illnesses,  
17 catastrophic illnesses, how does it feel to be a patient  
18 who is on a dialysis machine. I give -- I give a lecture  
19 that's -- that is a small group preceptor where one  
20 person gives the lecture about various and sundry things  
21 like we talked about, sexuality, patient/doctor  
22 relationships. These -- are you starting to get the  
23 gist?

24 Q. Okay. Is this a short course type of thing?

25 A. This is a course that goes through the

1 entire -- that goes through the eight months of the first  
2 year medical students, and I'm a small group preceptor.  
3 After the one hour lecture we generally break into small  
4 groups with the physician preceptor and talk about that  
5 kinds of things. I also teach to the whole group, to the  
6 whole first year students one hour during the year, I  
7 give them a lecture on death and dying.

8 I -- I have other medical students, first  
9 year medical students who come for one day a week at  
10 various -- throughout various six week periods of the  
11 year to see what kinds of things go on in a Surgical  
12 Intensive Care Unit. That's called a medical  
13 apprenticeship program.

14 I give two lectures every -- every six  
15 weeks -- every eight weeks for the surgical students,  
16 third year surgical students who come through the unit.  
17 I also give six or eight lectures to the anesthesia  
18 residents and students. I have a fourth year medical  
19 student who rotates through the Surgical Intensive Care  
20 Unit twelve months out of the year.

21 Q. And you're responsible for all of these  
22 students?

23 A. Correct.

24 Q. Do you teach the basic principles and the  
25 application of anesthesia and anesthesia equipment?

- 1           A.           Among other things.
- 2           Q.           Okay. Is that --
- 3           A.           Not so much equipment, but a -- but a lot of  
4 the basic principles of anesthesia.
- 5           Q.           Do you supervise students in the  
6 administration of anesthesia?
- 7           A.           No, I don't.
- 8           Q.           Do you supervise anyone in the  
9 administration of anesthesia except for the situations in  
10 which you yourself are personally involved? I believe  
11 you indicated that --
- 12          A.           I'm -- I -- please repeat that.
- 13          Q.           Okay. In the -- in the Surgical Intensive  
14 Care Unit you indicated that you take care of patients  
15 who are on ventilators --
- 16          A.           Correct.
- 17          Q.           -- and other types of equipment.
- 18          A.           Correct.
- 19          Q.           Other than your professionally being  
20 responsible for their care, do you supervise students in  
21 that area?
- 22          A.           If you are asking are there students in that  
23 area who help -- who I supervise taking care of patients,  
24 the answer is yes.
- 25          Q.           Okay.

1           A.           If you're asking do I supervise students in  
2 the operating room, in doing anesthesia, no. I have  
3 supervised residents in the operating room.

4           Q.           Do you currently?

5           A.           Do I currently? Not in the last six months.

6           Q.           Have you been involved in any type of  
7 research in the area of anesthesia as it pertains to the  
8 use of Forane in tonsillectomies or adenoidectomies?

9           A.           No, I have not.

10          Q.           Have you been involved in any type of  
11 research in that area at all?

12          A.           No.

13          Q.           Are you a member of any committee, member,  
14 organization that's involved in the research of those  
15 areas?

16                       MR. KALUR: "Those areas" meaning Forane?  
17 BY MR. TOMBERG:

18          Q.           Pediatric care in Forane tonsillectomies,  
19 adenoidectomies, this type of -- that type of area.

20          A.           I'm not sure I understand what the  
21 definition of a committee is that's involved --

22          Q.           Are you involved with any group that reviews  
23 the procedures, that investigates the use of anesthetics  
24 or the appropriate use of anesthesia agents, anything in  
25 that area related to tonsillectomies and adenoidectomies?

1 A. No, I don't believe *so*.

2 Q. Are you familiar with the anesthetic Forane?

3 A. Yes, I am.

4 Q. Do you use Forane in anesthesia cases?

5 A. I have in the past. Again, not in the last  
6 six months.

7 Q. Okay. Are you familiar with the book  
8 Pharmacological Basis of Therapeutics, Fourth Edition, by  
9 Goodman and Gillman?

10 A. Goodman and Gillman, yes.

11 Q. Is that the standard basic book that is  
12 taught in medical schools?

13 A. That is one of the pharmacological books  
14 that is used quite frequently, yes.

15 Q. Okay. And do you consider it to be  
16 authoritative?

17 A. What do you mean by authoritative?

18 Q. I mean is it basically a good standard  
19 reference book to use for medical?

20 A. It basically is a good standard reference  
21 book, yes.

22 Q. That's true about all basic standard  
23 reference books, is that as medicine changes they may not  
24 be as updated in some areas?

25 A. That is correct.

1           Q.           Okay. Are there any journals or  
2 professional magazines that you receive on a regular  
3 basis in your area of expertise?

4           A.           Yes.

5           Q.           Which ones are they?

6           A.           I receive Anesthesiology, Anesthesia and  
7 Analgesia, Critical Care Medicine, and Journal of  
8 Parenteral and Enteral Nutrition, Journal of Clinical  
9 Monitoring and one or two more that I can't remember the  
10 official titles of, so I'll not -- so I'll not discuss  
11 them.

12          Q.           Do you read these particular publications on  
13 a regular basis?

14          A.           I -- I don't read every one of them cover to  
15 cover every month, but I do scan them to try to glean the  
16 information that I feel is useful to me.

17          Q.           Okay. So those articles that are particular  
18 or pertaining to your field you would review, and those  
19 that aren't you wouldn't review but just scan?

20          A.           Glance at them, yes.

21          Q.           Do you rely upon the information from those  
22 journals?

23          A.           Yes, I do.

24          Q.           Does that keep you up-to-date in the medical  
25 practice?



1           A.           Yes, it helps.

2           Q.           Okay. Do you know Mr. Kalur?

3           A.           Yes, I do.

4           Q.           How long have you known Mr. Kalur?

5           A.           Oh, I guess for about a year.

6           Q.           Did Mr. Kalur contact you concerning this

7           case?

8           A.           Mr. Terry contacted me concerning this case.

9           Q.           He works with Mr. Kalur?

10          A.           He works with Mr. Kalur, that's what he

11          tells me.

12          Q.           And when did he contact you concerning this

13          case?

14          A.           Do you mind if I look at my -- sometime --

15          sometime in probably October or November of this year.

16          Q.           Did he contact you by telephone or in

17          writing?

18          A.           I don't remember what the initial was. I

19          think -- I think by telephone initially.

20          Q.           And can you tell me what materials he

21          provided you?

22          A.           If I may?

23          Q.           Certainly.

24          A.           All right. To the best of my recollection

25          he provided me with some of the records of the chart, the

1 depositions of Dr. Lee and Dr. Milo initially, and more  
2 recently some other pieces parts of the chart,  
3 depositions of Nurse Lewis, Nurse Fiehn, an affidavit of  
4 Dr. Breitenbach as well as his deposition, and today the  
5 deposition of -- which I haven't had a chance to review  
6 either Dr. Breitenbach's deposition or Dr. Morris'  
7 deposition.

8 Q. Okay. You received both of those?

9 A. I received both of those.

10 Q. Okay. Which of those depositions have you  
11 reviewed?

12 A. I reviewed all of the above mentioned  
13 material except for the depositions of Dr. Breitenbach  
14 and the deposition of Dr. Morris, which I did not get  
15 until the last 24, 48 hours.

16 Q. Okay, Did you do any medical research  
17 associated with this particular case?

18 A. No research per se. I discussed some points  
19 of this with some of my colleagues.

20 Q. What parts or portions did you discuss with  
21 your colleagues?

22 A. Portions of it for opinions of appropriate  
23 tube size for patients, the types of procedures and type  
24 of standard procedure that goes on during, you know, what  
25 are we doing now currently in the ear, nose and throat

1 room as far as airway control, anesthesia management,  
2 things like that.

3 Q. So because you're not familiar with those  
4 particulars --

5 A. Well, it's because I haven't done them for  
6 about three -- for about four years or so, and I wanted  
7 to make sure that things were still doing the same type  
8 of things as when I was in there doing it on a regular  
9 basis.

10 Q. Did you find out that they were doing it the  
11 same way?

12 A. Nothing much had changed.

13 Q. Did you do any other research in associated  
14 with -- in association with your review of these records

15 A. No, I did not.

16 Q. Were you asked to assume any facts by Mr.  
17 Terry or Mr. Kalur, assume any facts, any factual  
18 situation, any --

19 A. No.

20 Q. Did they ask you to do anything in  
21 particular other than review these records?

22 A. Not -- no, I'm not sure I understand what  
23 you mean.

24 Q. Okay. Well, I understand that you're going  
25 to testify that you have certain opinions as to the

1     appropriateness of the care and treatment rendered to  
2     Clarissa Laverick in this case.

3     A.           Correct.

4     Q.           What were you asked to do as it pertains to  
5     the care and treatment of Clarissa Laverick in reviewing  
6     them?

7     A.           Just to look at the records and render an  
8     opinion.

9     Q.           Okay. And what parameters were you to use  
10    for rendering your opinion?

11    A.           To -- to ascertain whether or not Dr. Milo  
12    had -- had used the standard practice of care with  
13    respect to the anesthetic involvement within the case --  
14    in the care of this young girl.

15    Q.           Okay. Can you tell us what your opinion of  
16    the history was of this little girl?

17    A.           The history?

18    Q.           Her history.

19    A.           As I recall she had chronic recurrent  
20    tonsillar infections, and she had been seen -- referred  
21    to the -- the clinic that the ear, nose and throat  
22    people, Dr. Milo had -- was involved in at Akron, at  
23    which time she was scheduled to have the tonsillectomy/  
24    adenoidectomy. I don't remember there being any other  
25    significant portions of the history, 3 hadn't seen any

1 other medical problems.

2 Q. Was there a physical exam done, to your  
3 know ledge?

4 A. I would have to go back and look at the  
5 record.

6 Q. Okay. Would you expect there to be some  
7 type of physical exam done before the -- before induction  
8 of any type of anesthesia?

9 A. By?

10 Q. Anyone, by any medical doctor.

11 A. I would -- I would expect that she would  
12 have been seen by an anesthesiologist to -- before  
13 anesthesia would proceed, yes.

14 Q. And you would expect him to perform some  
15 type of physical examination?

16 MR. TERRY: Objection. What "him" are we  
17 talking about? Are you talking about the  
18 anesthesiologist, are you talking about the ENT, who?  
19 BY MR. TOMBERC:

20 Q. I believe the question was would he expect  
21 somebody to make a physical examination. He testified  
22 that ,he expected an anesthesiologist to. Would he expect  
23 that anesthesiologist to do a physical examination?

24 A. I would think a limited physical  
25 examination, yes. I would expect a history would be more

1 important at this particular junction, but --

2 Q. Okay.

' 3 A. -- I would expect that person to at least to  
4 listen to the lungs and listen to the chest, yes.

5 Q. Okay. Why would an anesthesiologist do some  
6 limited type of physical examination?

7 MR. TERRY: Objection. This -- Dr. Milo  
8 is the subject of this deposition. Dr. Nearman has an  
9 opinion regarding the care rendered by Dr. Milo. Rule 26  
10 you can get to the opinion, you can get to the basis of  
11 the opinion. We have already admitted negligence and  
12 proximate cause as far as the anesthesiologists are  
13 concerned. If you want to get to what his opinion is and  
14 what the basis is, fine. If not we can pack it up and go  
15 home.

16 MR. TOMBERG:: Well, I think I'm entitled  
17 to ask him the questions that -- step by step.

18 MR. TERRY: You're entitled under Rule 26  
19 in this State to ask him what his opinion is and what the  
20 basis of the opinion is. We are not going to go into the  
21 anesthesia. We have already admitted everything we need  
22 to admit with the exception of the amount of damages,  
23 which is where the disagreement is here. If you want to  
24 get on to Milo, fine. If not, that's it.

25 BY MR. TOMBERG:

1           Q.           Doctor, did you render an opinion in this  
2 case?

3           A.           Yes, I have.

4           Q.           Okay. Did you render that opinion in  
5 writing?

6           A.           No, I have not.

7           Q.           Okay. And as it pertains to the care and  
8 treatment rendered by Dr. Milo, what is your opinion?

9           A.           As pertains to the care and treatment  
10 rendered by Dr. Milo, in general I'm not an ear, nose and  
11 throat surgeon so I am not going to comment on that, but  
12 as far as his conduct and interaction with the anesthetic  
13 management of the case I have rendered an opinion that he  
14 -- his care was within the standards of care.

15          Q.           Does your opinion encompass the issue of  
16 whether or not Dr. Milo participated in the anesthesia  
17 management?

18          A.           I'm --

19          Q.           Did he participate in the administration of  
20 anesthesia?

21          A.           Did he participate in the administration of  
22 anesthesia? No, he did not.

23          Q.           And that is -- that is one of the facts that  
24 you assumed in this case?

25          A.           Yes, that is one of the facts I -- that is

1 one of the facts that I assumed from reading the record.

2 MR. TOMBERG: Can we have the anesthesia  
3 record marked as Plaintiff's Exhibit 1 for purposes of  
4 this deposition.

5 (Plaintiff's Exhibit No. 1  
6 was marked for  
7 identification.)

8 MR. KALUR: I believe the Doctor has a  
9 copy of it.

10 BY MR. TOMBERG:

11 9. All right. Doctor, is that a copy of the  
12 anesthesia record that you reviewed? For some reason the  
13 little criss-cross lines aren't there, but --

14 A. The time lines aren't there, yes. Yes, it  
15 is.

16 Q. Okay. And you reviewed, as I understand it,  
17 Dr. Milo's deposition?

18 A. Yes, I did.

19 Q. And you reviewed Dr. Lee's deposition?

20 A. Yes, I did.

21 Q. To your knowledge was Dr. Lee present during  
22 the administration of anesthesia?

23 A. At what point, the entire time?

24 Q. At any time.

25 A. Um-m.



1           Q.           Up and to the point in time where the code  
2 was -- or he was summoned or there was a code of some  
3 nature when he was summoned.

4           A.           I don't remember whether or not he was -- I  
5 know he was not in the room at the time of the question  
6 of the code. I don't recall specifically whether he was  
7 in the room at the time of the induction or not. I would  
8 have to go back and look at that.

9           Q.           Would that be a significant fact?

10          A.           With regards to the behavior of Dr. Milo?

11          Q.           Yes.

12          A.           No, I don't think so.

13          Q.           Okay. Do you know who would have been  
14 administering the anesthesia in the absence of Dr. Lee?

15          A.           As I understand it there was a nurse  
16 anesthetist and a nurse anesthetist student in the room.

17          Q.           Okay. Do you know whether or not during the  
18 period of time involved concerning Dr. Milo's -- well,  
19 strike that, let me ask it this way.

20                       Did your review of the record indicate  
21 whether or not Dr. Milo extubated the patient at any time  
22 and reintubated the patient?

23          A.           Yes.

24          Q.           Do you recall the number of times that that  
25 occurred?

1           A.           He extubated and reintubated twice.

2           Q.           All right. Does your review of the record  
3 indicate whether or not Dr. Lee was present during those  
4 extubations or intubations?

5           A.           I don't recall him being there during that  
6 -- during those two.

7           Q.           Do you recall the name of the nurse  
8 anesthetist?

9           A.           The student?

10          Q.           No, the --

11          A.           The nurse anesthetist?

12          Q.           The certified --

13          A.           No, I don't recall. I think it was a  
14 gentleman. I don't recall his name right offhand.

15          Q.           Okay. If you take it for granted that his  
16 name was Mr. Sturniolo, does your recollection of  
17 reviewing the record indicate whether or not he was  
18 present during the extubation/intubations by Dr. Milo?

19          A.           I don't believe he was.

20          Q.           And it's my understanding of your review of  
21 the record that Dr. Milo did the actual extubations and  
22 re-intubations?

23          A.           That's correct, yes.

24          Q.           Would you agree with me, sir, that Dr. Milo  
25 was the only physician in the operating room at the time

1 the extubations and intubations were done?

2 A. Yes, that's correct, to my understanding.

3 Q. Okay. And in that time the anesthesia was  
4 being handled by the student nurse anesthetist?

5 A. That's my understanding, yes.

6 Q. And would you agree that Dr. Milo's  
7 testimony indicated that he noticed an air leak with a  
8 highly objectionable odor of Forane?

9 MR. TERRY: Objection. You're entitled to  
10 ask him his opinion and the basis of the opinion. This  
11 isn't cross-examination. If you want to ask him what the  
12 basis of his opinion is, fine. If you want to  
13 cross-examine him you can do that in Akron in a few  
14 weeks,

15 MR. TOMBERG: I was just wondering if  
16 you'd let me go ahead and establish the facts from which  
17 he based his opinion on.

18 MR. TERRY: Why don't you let him  
19 establish the facts that he based his opinion on, because  
20 he's the one who's got the opinion, not you.

21 BY MR. TOMBERG:

22 Q. Doctor, what --

23 A. Could you please restate the question,  
24 reread the question for me?

25 Q. Okay. I was just asking if --

1                   MR. TERRY: No, he's going to put a new  
2 question.

3 BY MR. TOMBERG:

4       Q.           -- if you could tell me what facts you based  
5 your opinion on.

6       A.           My opinion as to Dr. Milo's --

7       Q.           Dr. Milo, yes.

8       A.           -- care? From my review of Dr. Milo's  
9 deposition and Dr. Lee's deposition, Nurse Lewis'  
10 deposition, Nurse Fiehn's deposition and the records.

11      Q.           What facts did you -- what facts did you  
12 adduce that lead you to this opinion to conclude that Dr.  
13 Milo did nothing wrong?

14      A.           Because I don't think that Dr. Milo, from my  
15 reviewing the records, was responsible for the anesthesia  
16 care for this patient.

17      Q.           And what factors or facts did you take into  
18 that consideration?

19      A.           Because there was a nurse anesthetist there,  
20 or a nurse anesthetist student who was being supervised  
21 or should have been supervised by a nurse anesthetist,  
22 who was being or should have been supervised by an  
23 anesthesiologist.

24      Q.           And in the absence of the supervision by the  
25 anesthesiologist and the certified nurse anesthetist of

1 the student who was left to supervise the student nurse?

2 A. The anesthesiologist and the nurse  
3 anesthetist should have been supervising the nurse  
4 anesthetist student.

5 Q. Okay. We agree with that.

6 A. Yeah, yeah.

7 Q. And they didn't, we agree with that?

8 A. I -- I agree with -- they did not supervise  
9 her closely enough, obviously.

10 Q. And in their absence in the operating room  
11 are you saying that you don't believe that it's the  
12 responsibility of the surgeon to supervise?

13 A. No, I don't.

14 Q. Okay. And you're familiar with Dr. Milo's  
15 description of the odor of Forane?

16 A. Yes.

17 Q. Okay. Were you also familiar with the fact  
18 that Nurse Lewis became acutely ill?

19 A. Yes.

20 MR. TERRY: Objection to that  
21 characterization. She became nauseous and left the room.

22 THE WITNESS: I'm familiar with the fact  
23 -- with the fact that she became nauseous and left the  
24 room.

25 BY MR. TOMBERG:

1           Q.           Are you familiar with Section 4731.35  
2           concerning the rights of a nurse anesthetist to practice  
3           in Ohio?

4           A.           No, I'm --

5                       MR. TERRY:  Objection.  Unlike your  
6           witnesses, mine are doctors, not lawyers.  That asks for  
7           legal information and conclusions which he is not  
8           prepared to give, and I'm not going to let him answer the  
9           question even if he was familiar with it.  This is a  
10          medical issue, not a legal issue.  The Judge will decide  
11          what the law is and how it applies, not Dr. Nearman and  
12          not Dr. Morris and not Dr. Lauren Breitenbach.

13       BY MR. TOMBERG:

14          Q.           You're not familiar with that statute, sir?

15          A.           No, I'm not.

16                       MR. TERRY:  Do not answer that.

17       BY MR. TOMBERG:

18          Q.           How many times have you reviewed cases for  
19          either Mr. Kalur's firm or Mr. Terry?

20          A.           This is the second one that I've -- that  
21          I've given a deposition at.

22          Q.           Okay.  How many cases have you reviewed?

23          A.           I have another -- I have another two cases  
24          that I have reviewed or are reviewing.

25          Q.           Okay.  And in each of those cases are you

1 reviewing them on behalf of the doctor who is being sued?

2 A. That's correct.

3 Q. Okay. Approximately how many cases have you  
4 reviewed in medical malpractice cases overall?

5 A. Probably about eight or nine.

6 Q. Okay. And in each of those cases was that  
7 on behalf of the doctor being sued?

8 A. No.

9 Q. Okay. Can you tell me approximately how  
10 many were for the patient suing the doctor?

11 A. I've reviewed two of those for plaintiff  
12 cases.

13 Q. Were you required to testify in deposition  
14 other than in the other case that you indicated you had  
15 for -- with Mr. Kalur or Mr. Terry?

16 A. Are you asking me if I've given any other  
17 depositions for them? No.

18 Q. Just those two?

19 A. Just those two.

20 Q. Okay. And have you given any other  
21 depositions in any of the other cases?

22 A.. Yes, I have.

23 Q. And in which cases were those?

24 A. In any of the other cases that I've  
25 reviewed?

1 Q. Yes, sir. How many times?

2 A, I would say two or three. I know I gave one  
' 3 in one of the plaintiffs cases, and I -- probably one  
4 other. I don't remember, it's been over a five, six year  
5 period, so I don't recall specifically.

6 Q. Do you have some type of an agreement with  
7 Mr. Terry or Mr. Kalur as concerning your fees?

8 A. Yes.

9 Q. What is that agreement?

10 A. That I am paid, reimbursed by the hour.

11 Q. What is your standard hourly rate?

12 A. \$125.

13 Q. Is that what you will be charging me for  
14 this deposition?

15 A. I -- I don't know what the arrangement is.

16 MR. KALUR: He has to pay for your time  
17 during the deposition.

18 THE WITNESS: Oh, yes, okay.

19 BY MK. TOMBERG:

20 Q. Are you a member of any organization that's  
21 -- a medical organization that's involved in changing the  
22 medical malpractice laws?

23 MR. TERRY: Objection.

24 THE WITNESS: Not to my knowledge. If  
25 they have, they haven't asked me about it.



1 BY MR. TOMBERG:

2 Q. Do you agree with the changes that they  
' 3 propose?

4 MR. TERRY: Objection.

5 THE WITNESS: I'm not -- I'm not entirely  
6 familiar with all of them, no.

7 BY MR. TOMBERG:

8 Q. Do you -- in your opinion did you take into  
9 consideration as to when Dr. Milo noticed the cyanotic or  
10 the bluing of the blood?

11 A. Yes.

12 Q. Okay. And in your opinion when did he  
13 notice it?

14 A. As -- as I understand it he noticed it after  
15 the cuffed tube -- after he reintubated the second time  
16 with the cuffed tube.

17 Q. To your knowledge did he continue with  
18 surgery after noticing it?

19 A. Not that I remember. It's my -- I can go  
20 back and look through this if you would like me, but as I  
21 recall it was around that -- when he noticed that that he  
22 realized that something was awry, and I don't remember  
23 whether he finished packing something to stop bleeding or  
24 what. I don't know what you constitute going on with the  
25 surgery.

1 I know at that point -- if I were -- as I  
2 recall, at that point -- at that point he noticed -- he  
3 noted that something was wrong. Whether he called for --  
4 he called for some -- or brought it to the attention and  
5 said, "There's something going on here." I don't  
6 remember exactly what happened --

7 Q. Okay.

8 A. -- as far as finishing the surgery or not.

9 Q. In your review of the anesthesia record were  
10 you able to correlate Dr. Milo's testimony with the  
11 events recorded on the anesthesia record?

12 A. The events recorded on the anesthesia record  
13 are -- a lot of events occurred in a very short time and  
14 the anesthesia record doesn't really allow, as you can  
15 see, for things happening on a one or two minute basis.  
16 As far as I could tell there seemed to be correlation.

17 Q. Is that an accurate anesthesia record of  
18 what transpired in the operating room that day?

19 MR. TERRY: Objection. That's an accurate  
20 copy of a record that was made. That's all he can  
21 testify to.

22 THE WITNESS: I really can't -- again,  
23 there was things, we are talking about 15 minute blocks  
24 here and things that happened within a period of one or  
25 two or three minutes would be hard to compress and put

1 exactly in -- in the correct time structure.

2 BY MR. TONBERC:

3 Q. Doctor, what is tachycardia?

4 A. It's a rapid response from the heart rate.

5 Q. What is bradycardia?

6 A. A slow response.

7 Q. What is cardiac arrest?

8 A. No -- no heartbeat, no contraction of the  
9 heart.

10 Q. Is there a sequential nature between  
11 tachycardia or bradycardia or cardiac arrest?

12 A. There -- there is, and it varies dependent  
13 upon the nature of the insult and the -- the host  
14 organism.

15 Q. If we are talking about a cardiac arrest  
16 induced by anesthesia, is there any cor- -- is there any  
17 sequence that you would anticipate seeing?

18 A. By what type of anesthesia, by --

19 Q. Say Forane.

20 A. By too much anesthesia, is that what you're  
21 asking?

22 Q. By an overdose. In this particular case,  
23 there's no question this was an overdose case?

24 A. Yeah, no, I understand that. I'm not  
25 arguing that. I'm trying to specify your question.

1           Q.           Okay. In this type of case where there was  
2 an overdoes is there any type of sequence that occurs  
' 3 involving either tachycardia or bradycardia or both of  
4 them prior to cardiac arrest?

5           A.           There's no -- probably no characteristic  
6 response. One could see, either one, but the Forane is a  
7 myocardial depressant. When the myocardium becomes  
8 depressed and there's not enough output from the heart to  
9 supply the tissues with the needed oxygen, then sometimes  
10 one will get a sympathetic response from the nervous  
11 system, fight or flee type of thing, in an attempt to try  
12 to get more out of the heart. And if one -- if that --  
13 if the heart is in a situation to respond then there will  
14 be a tachycardia. If the heart is thoroughly depressed,  
15 it can't do that, then bradycardia will ensue as the  
16 autometricity of the electrical fibers decreases.

17          Q.           Okay. If there's a tachycardia would a  
18 bradycardia follow?

19          A.           Right, it might. It may not. It may -- I  
20 have seen in cases where -- not specifically Forane  
21 overdoses, but in situations where the myocardium is  
22 depressed by another type of agent or by a disease  
23 process where one can go right from a tachycardia to a  
24 standstill.

25          Q.           Okay. What is succinylcholine?

1           A.           Succinylcholine.

2           Q.           Or succinylcholine.

3           A.           It's an agent -- it's a non-depolarizing --  
4       sorry, it's a depolarizing neuromuscular blocking agent.

5           Q.           What does that mean?

6           A.           What does that mean? It's a paralyzing  
7       agent that one uses in the operating room and in the  
8       Intensive Care Unit --

9           Q.           Okay.

10          A.           -- when one desires complete muscle  
11       relaxation quickly for a short period of time, i.e. for  
12       intubation.

13          Q.           What is the appropriate dosage to give as  
14       far as, you know, on a weight basis or something like  
15       that?

16          A.           Usually a milligram per kilo.

17          Q.           And what effect does the succinylcholine  
18       have on the heart?

19          A.           It -- it is a conestration, con- --  
20       anti-conestration inhibitor, and by doing that it can  
21       produce a bradycardia, not always -- usually not with an  
22       initial dose, oftentimes it takes a second or repeat dose  
23       to produce a bradycardia.

24          Q.           Okay. And would that be -- could that also  
25       be caused by an overdose of succinylcholine, the

1 bradycardia?

2 MR. TERRY: Objection. That's pure  
3 speculation. You're dealing with a concrete case here  
4 and it has nothing to do with Dr. Milo at any rate.

5 THE WITNESS: It could.

6 BY MR. TOMBERG:

7 Q. And how do you, as a physician, diagnose  
8 bradycardia?

9 A. How would I diagnose bradycardia?

10 Q. Yes, sir. As an anesthesiologist what do  
11 you look for in bradycardia?

12 A. Slowing of the heart rate. I mean, that's  
13 the definition of bradycardia. It's not a diagnosis,  
14 either it is or it isn't. It's like being a little bit  
15 pregnant. You see what the pulse is, the pulse is slow,  
16 then that's bradycardia.

17 Q. What would you anticipate the pace to be, or  
18 the pulse to be of a nine year old undergoing anesthesia,  
19 Forane, specifically?

20 A. The type of anesthesia doesn't usually  
21 matter. We anticipate the pulse -- well, there's -- the  
22 resting pulse in a nine year old may be somewhere around  
23 a hundred, give or take. If the patient is undergoing  
24 the -- undergoing intubation it is -- is asleep and not  
25 quite, may still have some sympathetic discharge, the

1 pulse may go up --

2 Q. To what?

3 A. -- If there's light anesthesia. Oh, the  
4 pulse could go up to 140, 150 in a nine year old, easily.

5 Q. Were there any assumptions that you made in  
6 coming up to your conclusion, other than the facts that  
7 we have discussed?

8 A. Not that I -- I'm not sure I understand the  
9 question.

10 Q. Okay. Did you make any assumptions about  
11 certain procedures that were performed or certain steps  
12 that were done or the timing of certain things in order  
13 to come to your conclusion?

14 A. No, not other than what we discussed or what  
15 I got from the records.

16 Q. Can you tell me approximately how much time  
17 you spent in reviewing the records?

18 A. Approximately three hours.

19 Q. Was this an avoidable accident?

20 MR. TERRY: From Dr. Milo's point of view  
21 or from the anesthesiology group's point of view --

22

23

24

25

1 MR. TOMBERG: Read it back to him, please.

2 (The last question was read back by  
3 the Reporter as requested.)

4 THE WITNESS: I think from the  
5 anesthesia's point of view it was an avoidable accident.

6 BY MR. TOMBERG:

7 Q. How about from the surgical point of view?

8 A. I don't think that the surgeon did anything  
9 that was -- there was no accident by the surgical -- by  
10 the surgeon, therefore there was nothing to avoid.

11 Q. If we were to assume that there were an  
12 anesthesia problem in a case like this where the surgeon  
13 is in the operating theater and he has a student nurse  
14 with him, would you anticipate that he would contact the  
15 anesthesiologist if he detected there being a problem  
16 with the anesthesia or the delivery of the anesthesia?

17 A. There was a student nurse with him, meaning  
18 there's a student nurse not with the surgeon but there  
19 was a student nurse administering anesthesia?

20 Q. Yes, sir.

21 A. And your question then?

22 Q. If the surgeon detected --

23 A. Detected --

24 Q. -- or was suspicious --

25 A. -- thought that there was an anesthetic



1 problem --

2 Q. Yes.

3 A. -- who would he contact?

4 Q. Yes.

5 A. I would imagine he would contact the person  
6 who was in the room. And if he felt -- yeah, I would  
7 imagine he would contact the person that was in the room.

8 Q. The student nurse?

9 A. (Nodding head up and down.) And could --  
10 that would be the chain of command that I would assume --

11 Q. Okay.

12 A. -- would he followed.

13 Q. Okay. Would you agree that the surgeon and  
14 the anesthesiologist are basically equals within --  
15 within their own disciplines?

16 A. Equals --

17 Q. Equals --

18 A. -- in what?

19 Q. -- **Equals** in that the surgeon is responsible  
20 for the surgery, the anesthesiologist is responsible for  
21 the anesthesia.

22 A. Yes.

23 Q. Okay. Would that chain of command or  
24 protocol change if the -- if the anesthesiologist is not  
25 present, as far as the doctor being able to direct the

1 nurse anesthetist or student nurse anesthetist?

2 A. I don't know. No, I don't think so. I  
3 mean, I -- that's a very generalized question. I'm not  
4 sure exactly. I'm not sure exactly if you're asking for  
5 a specific protocol or a protocol in this case or, you  
6 know, what generally happens.

7 Q. How about first --

8 A. What generally happens, if the surgeon  
9 thinks there's a problem with the anesthesia he contacts  
10 the person who is giving the anesthesia at that point,  
11 who was in the room responsible for the anesthetic  
12 management of that patient.

13 Q. Okay. Can you detect the difference between  
14 an appropriate dosage of -- of an anesthetic agent such  
15 as Forane and an obvious overdose if you were in there  
16 and you were able to detect it?

17 A. By what means?

18 Q. By smell.

19 A. No.

20 Q. Have you ever smelled an overdose of  
21 anesthesia being delivered?

22 A, I don't think I've ever been in a room when  
23 an overdose of anesthesia has been delivered. I  
24 certainly know that one can't smell the difference  
25 between a half percent of an anesthetic agent and four

1 percent.

2 Q. How about an appropriate dose and ten times  
3 the appropriate dose, would something like that be  
4 detectable?

5 A. By the nose?

6 Q. Yes.

7 A. No.

8 Q. Is it unusual to smell an odor of anesthetic  
9 agent in an operating room?

10 A. Not if -- if there's a closed system it  
11 would be unusual. If there's an open system such as a  
12 leak, you know, a leak anyplace in the system, either the  
13 tube or scavenger system or something then it's not  
14 unusual to smell it. It would be unusual not to smell it  
15 under those circumstances.

16 Q. What do you do as an anesthesiologist when  
17 you discover a leak or something that's not appropriate?

18 A. If there's -- if I have a tube in, it's a  
19 cuffed tube, the first thing I do anyway is I check the  
20 airway. If the airway is okay and the tube is up and  
21 there's not a leak around the cuff, then I go through and  
22 look at other parts of my circuit, my scavenging system,  
23 the ventilator circuit, to see if there's a leak  
24 someplace.

25 Q. Those comprise the units of the machine,

1 correct?

2 A. Correct. If there's an uncuffed tube in, as  
' 3 in this case, or as in most pediatric cases under puberty  
4 then, you know, I still would -- I would assume that it  
5 would come from there. I would still, you know, assume  
6 it would come from there, just giving a glance at the  
7 other components of the system as well. It would depend  
8 on where the smell seemed to originate from.

9 Q. Do you have an opinion as to whether or not  
10 Dr. Milo's conduct was below the standard of care in not  
11 summoning Dr. Lee when he is faced with a highly  
12 objectionable odor of the anesthetic agent and the nurse  
13 became nauseous and left the room?

14 A. Yeah, I have an opinion.

15 Q. And what is that opinion?

16 A. In my opinion, his behavior was within the  
17 standards of care.

18 Q. Does the surgeon have any responsibility to  
19 check the vital signs of the patient under anesthesia?

20 A. It's the anesthesiologist's responsibility  
21 for the vital signs of the patient.

22 Q. Do you commonly use nurse anesthetists in  
23 your practice?

24 A. In -- our group does employ -- our group  
25 does utilize nurse anesthetists, yes.

1 Q. Okay. And do you have any standards by  
2 which you use to supervise them?

' 3 A. I -- I don't think there are written  
4 standards per se. There are certainly the same type of  
5 care would be given to supervise them as we would give  
6 for any non-attending individual administering  
7 anesthesia, i.e. residents. I don't know what the rules  
8 are, I don't know if there's a written set of rules.

9 Q. What do you generally do as far as your  
10 practice is concerned when it comes to supervising nurse  
11 anesthetists --

12 A. Supervising --

13 Q. -- or residents?

14 A. -- residents, medical students, I -- I  
15 usually, as far as my practice is I -- if I've never  
16 worked with them before I will -- I supervise them  
17 closely during any phase of any participatory management  
18 that may be of significance to the patient until I know  
19 them better and know what their capabilities and  
20 qualities are. You know, I'm pretty much hovering around  
21 them most of the time. And as we work together more I  
22 tend to let them, and I see what their abilities are and  
23 capabilities and whether they know enough to know when  
24 they don't know what they're doing, and then widen the  
25 gap between my -- my supervision and their -- and their

1 participation.

2 Q. Do you allow them to perform procedures on a  
3 regular basis, the entire procedure, without your being  
4 present at all?

5 MR. TERRY: Objection. He's already  
6 answered what his practice was.

7 MR. TOMBERG: Well --

8 THE WITNESS: No, I -- you know, as far as  
9 my -- no. No, I don't.

10 BY MR. TOMBERG:

11 Q. In this particular case in which there is an  
12 anesthetic overdose, and cyanosis is one of the signs  
13 that the surgeon can see, approximately what type of time  
14 frame are we talking about when the blood goes from  
15 bright red to being very, very dark?

16 A. Depends on what the cause of the cyanosis  
17 is.

18 Q. On this particular case it was obviously --

19 A. In this case it was anesthetic overdose. It  
20 was not lack of delivery of oxygen from the machine to  
21 the patient but lack of cardiac output because of  
22 myocardial depression. The time course is seconds to --  
23 not seconds, but minutes.

24 Q. Okay. So it would go within minutes she  
25 would go from having bright red blood to having very dark

1 blood?

2 A. Yes.

3 Q. When you say minutes you mean two minutes,  
4 maybe three?

5 A. It would -- it depends on a lot of things.  
6 It depends upon the state of her oxygenation prior to  
7 this, it depends on her metabolic rate, the desaturation  
8 -- the desaturate of the blood depending on her metabolic  
9 rate. We are talking about going from blood that has a  
10 lot of oxygen carried on it to blood that doesn't have  
11 very much; and what happens to the oxygen is it gets  
12 taken up by the tissues, and if the -- the heart output,  
13 cardiac output falls because of an anesthetic overdose  
14 then there's not as many trucks carrying oxygen  
15 molecules, so each of the trucks unloads more, then when  
16 it gets back to the other side there's nothing on it.

17 And it -- it would depend upon what her  
18 cardiac output was, how many molecules of oxygen the  
19 trucks had in them before, when they started and how fast  
20 they were being unloaded at the other end. So it's --

21 Q. Is there anything in this record to indicate  
22 to you any of those facts or figures that you would need  
23 to make that calculation?

24 A. It's -- it's variable. You know, we are  
25 talking it certainly wouldn't be more than two or three

1 minutes. It could be less.

2 Q. What would be the least amount of time that  
3 you would consider, one minute?

4 MR. TERRY: Objection. He's already said  
5 two to three minutes.

6 THE WITNESS: I would be guessing if it  
7 was, you know, I would be guessing at this point.

8 BY MR. TOMBERG:

9 Q. What --

10 A. The least amount of time, perhaps a minute.

11 Q. All right. The most amount of time?

12 A. Three to -- three to four minutes.

13 Q. Okay.

14 A. I said it depends upon the rate at which --  
15 I mean, if the heart was to stop, this depends upon the  
16 rate at which the cardiac output falls. I try to outline  
17 to you the various factors and all of those -- there are  
18 several rate dependent steps. It would depend upon the  
19 rate at which the cardiac output fell.

20 Q. Is there anything in the anesthesia record  
21 that you've reviewed to assist you to tell you what  
22 cardiac output was?

23 A. No.

24 Q. Whether there was proper oxygenation or  
25 anything?



1           A.           No.

2           Q.           Would that be the type of information that  
3 you would expect to find in this type of record?

4           A.           No, not -- one doesn't normally monitor  
5 things like cardiac output in elective cases of healthy  
6 individuals. One monitors blood pressure and pulse which  
7 there is here, but that does not --

8           Q.           Okay.

9           A.           -- necessarily reflect the other variables  
10 that I've gotten into. You know, you can tell she had a  
11 decent blood pressure at the beginning and had a decent  
12 pulse, so once there's adequate cardiac output, yes; but  
13 how fast it fell off, that's the real key here. As far  
14 as you're talking about time course for desaturation, how  
15 fast the cardiac output fell. There's no way that --  
16 there's nothing on this record that tells us that.

17          Q.           In looking at the anesthesia record, what do  
18 these little dots right here by these little checkmarks  
19 -- there's a line that says a hundred, just below it it  
20 starts and then goes upward, do you see that?

21          A.           Yeah.

22          Q.           Okay. What are those dots?

23          A.           These dots?

24          Q.           No, no, no, not where the CR is, but above  
25 that. See above here, these dots that go almost up --

1           A.           That's her heart rate, it's my  
2 understanding, from the record.

3           Q.           Okay. And how would you describe that heart  
4 rate from the way it's charted?

5           A.           Well, the 80 or 90 when we started was -- is  
6 with induction, and then she became tachycardic up to 140  
7 range shortly after which may have been due, you know,  
8 may be due to any number of factors. It may have been  
9 due to light anesthesia at the beginning and she needed,  
10 you know, she had a sympathetic response because she may  
11 have been feeling some stimuli from the surgery -- from  
12 the surgical field or that.

13          Q.           Would this be affected by the amount or lack  
14 of amount of succinylcholine that would be administered,  
15 the fact that she had a sympathetic response?

16          A.           No, not really. It would not have any much  
17 -- I don't know how that would interplay with the  
18 succinylcholine.

19          Q.           Okay. Because you indicated that  
20 succinylcholine --

21          A.           Could cause a bradycardia. She didn't have  
22 a bradycardia.

23          Q.           Okay. And the blood pressure, you indicated  
24 these little checkmarks; is that correct?

25          A.           That is -- I assume that's a systolic blood

1 pressure, yes.

2 Q. What does systolic mean?

3 A. Well, when you take blood pressure with a  
4 cuff you put a cuff around the upper extremity and you  
5 pump air into the cuff until the pressure in the cuff  
6 exceeds the pressure in the arteries going below  
7 perfusing the limb, and then you slowly let the air out.  
8 And the first time you hear a pulse of blood flow, that's  
9 called the systolic blood pressure. Then when it becomes  
10 muffled it falls away, it becomes a diastolic.

11 Q. Okay. Do you see any markings indicating a  
12 diastolic blood pressure in there?

13 A. No, I don't.

14 Q. Would that be something that would  
15 ordinarily be charted?

16 MR. KALUR: What difference does it make?  
17 Anesthesia said they're negligent, so what?

18 THE WITNESS: Sometimes it is, sometimes  
19 it's not.

20 BY MR. TOMBERG:

21 Q. Up at the top it indicates gases; is that  
22 correct?

23 MR. TERRY: Oh come on, Jeff. You've got  
24 his opinion on Milo and you've got the basis of the  
25 opinion, and we have been through the record with Dr. Lee

1 and with Mawer, Schmidt and the people who created it.

2 MR. TOMBERG: Well, I'd like to have his  
3 interpretation of the record.

4 MR. TERRY: There's no need for his  
5 interpretation because the record and what's on that  
6 record really plays no role in the opinion that he's  
7 already rendered; and if you want to ask him if there's  
8 anything on there that plays a role in that opinion that  
9 he's given, fine, but otherwise this is an educational  
10 session and I've got other things to do than watch you  
11 get educated.

12 MR. TOMBERG: Thank you.

13 BY MR. TOMBERG:

14 Q. Is there -- is there anything that you took  
15 into consideration in rendering your opinion from this  
16 anesthesia record?

17 A. Nothing more than just to looking at what  
18 the vital signs appeared to be and the time frame of --  
19 of the events that occurred.

20 Q. Anything in that record appear unusual to  
21 you or depart from what you would expect to find in an  
22 anesthesia record?

23 A. In an anesthesia record?

24 Q. Yes, sir. That's an anesthesia record,  
25 isn't it?

1           A.           Yes, that's correct.

2           Q.           Okay. And is there anything --

3                       MR. TERRY: Objection, and the same basis  
4 for the objection.

5 BY MR. TOMBERG:

6           Q.           Is there anything in that record that you  
7 took into consideration that was different than what you  
8 would expect or anticipate of finding in a normal  
9 anesthesia record?

10                      MR. TERRY: Objection to that question,  
11 that's incomprehensible. If you can decipher that you  
12 can try and answer.

13                      THE WITNESS: Yeah. Okay. Some of the  
14 things aren't as legible as I would like to see. Some  
15 things I would -- I would have done differently. You  
16 know, there's X's by when the -- where the drugs were  
17 given and there's not always a -- readily -- you know,  
18 you got Forane was on, at what percent I don't -- I don't  
19 know whether we can interpret what percent it was on  
20 there.

21                      Succinylcholine was given, but you see an  
22 X and you have to go someplace else to find where it is.  
23 The times of events that occurred quite close to each  
24 other are not -- are just sort of circled and put all  
25 within a half hour time frame of when those that state

1 from the depositions they were closer than that, and I  
2 would have marked those with a time at 14 -- at 1400 or  
'3 1352, so --

4 BY MR. TOMBERG:

5 Q. Much like the drugs were done here on the  
6 right-hand side?

7 A. Correct, correct.

8 Q. Do they have additional pieces of paper  
9 available to them in the operating room where they can do  
10 those kinds of things?

11 MR. TERRY: Objection, that's speculation.

12 THE WITNESS: I don't know.

13 BY MR. TOMBERG:

14 Q. Is it your opinion that the surgeon has no  
15 responsibility for the administration of anesthesia in  
16 any respects?

17 A. If there's an anesthesiologist who is  
18 assigned to the case, it's the anesthesiologist's  
19 responsibility for the administration and delivery of  
20 anesthesia.

21 Q. And that's true whether he's present or not?

22 A. That's true whether he's present or not.

23 Q. Does your review of the record indicate  
24 whether or not Dr. Milo observed any bradycardia prior to  
25 the darkening of the blood?

1 I don't recall that he did, but I don't  
2 remember for sure. But I was not -- I don't recall that  
'3 he observed that, no.

4 Q. Did you come to an opinion as to whether or  
5 not, if Dr. Lee had been summoned when the first air leak  
6 was noticed, and that he had made an inspection of the  
7 anesthesia procedures, monitors and the like, whether or  
8 not this would have been preventable?

9 MR. KALUR: "This," you mean the death?

10 MR. TOMBERG: The death.

11 THE WITNESS: No, I did not reach an  
12 opinion as to that. I don't really know. It depends --  
13 to me it was not clear. The death, as we have said, is  
14 obviously by an overdose of Forane. When the overdose  
15 occurred, you know, was it turned up at the first leak,  
16 was it done in between, I don't know. That's not clear  
17 to me.

18 It was not clear to me from the  
19 depositions when -- when the bobbin went up, when the  
20 overdose occurred, so therefore I don't -- I can't say if  
21 Dr. Lee had been called in at the first -- at the point  
22 of time of the first leak whether or not she had already  
23 had it at that time or not.

24 BY MR. TOMBERG:

25 Q. Approximately how long a period of time

1 would it take to overdose a patient on Forane if it were  
2 -- if the flow meter, if the Vernitrol, were turned on  
'3 full?

4 MR. TERRY: Objection. That calls for  
5 speculation. That calls for the Doctor knowing the  
6 volume that the Vernitrol is going to churn out, it calls  
7 for speculation as to any number of variables and I'm  
8 going to direct the Doctor not to answer.

9 THE WITNESS: No comment.

10 BY MR. TOMBERG:

11 Q. Doctor, has all of your testimony today been  
12 based upon a reasonable degree of medical probability or  
13 certainty?

14 A. Yes.

15 MR. TOMBERG: I don't have anything else.

16 MR. TERRY: You have the right to review  
17 the document after it's been typed up by Mr. Bish, or you  
18 can waive that right; and I would suggest to you that you  
19 might want to read this one before it's typed up. We  
20 will not waive signature.

21 THE WITNESS: Okay.

22 - - -

23 (Deposition concluded at 2:05 o'clock p.m.)

24 - - -

25



C E R T I F I C A T E


STATE OF OHIO, )  
                  ) SS:  
SUMMIT COUNTY, )

I, William S. Bish, an RPR/CM and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, HOWARD S. NEARMAN, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by the witness was by me reduced to Stenotypy in the presence of said witness, afterwards transcribed upon a computer; and that the foregoing is a true and correct transcription of the testimony so given by the witness as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio on this 22nd day of December, 1986.

  
\_\_\_\_\_  
William S. Bish, RPR/CM and Notary  
Public in and for the State of Ohio.

My Commission expires November 4, 1989.