

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

BONNIE WEISS, Administratrix of)
the Estate of Edith James,)

Plaintiff,)

vs.)

Case No. 326275

HENRY W. EISENBERG, M.D., et al.,)

Defendants.)

VIDEOTAPED DEPOSITION OF KEVIN W. OLDEN, M.D.

Scottsdale, Arizona
Thursday, September 10, 1998
7:00 p.m.

ORIGINAL

REPORTED BY: Carole A. Yelton

NICHOLS CERTIFIED COURT REPORTERS

602/991-7666 • Fax 602/998-7228 • 800/227-0577

8300 North Hayden Road, Suite 207 • Scottsdale, Arizona 85258

110 West "C" Street, Suite 1300 • San Diego, California 92101

7825 Fay Avenue, Suite 200 • LaJolla, California 92037

1801 Avenue of the Stars, Suite 640 • Los Angeles, California 90067



1 APPEARANCES:

2 MARK W. RUF, ESQUIRE
3 Hoyt Block Suite 300
4 700 West St. Clair Avenue
Cleveland, Ohio 44113-1230
Appearing on Behalf of Plaintiff

5 REMINGER & REMINGER
6 (BY: GARY H. GOLWASSER, ESQUIRE)
The 113 St. Clair Building
Cleveland, Ohio 44114-1273
7 Appearing Telephonically on Behalf of
8 Defendant Henry W. Eisenberg, M.D.

9 ALSO PRESENT:

10 Ms. Naomi Valadez, Videographer
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

KEVIN W. OLDEN, M.D.

E X A M I N A T I O N

PAGE

BY: Mr. Ruf

07

120

BY: Mr. Golwasser

102

E X H I B I T S

(Exhibits retained by Mr. Ruf.)

* * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

* * *

The deposition of KEVIN W. OLDEN, M.D., a
Witness herein, taken pursuant to Notice of Videotape
Deposition, before Carole A. Yelton, court reporter, at
Scottsdale Marriott Suites, 7325 East Third Avenue,
Scottsdale, Arizona, on Thursday, September 10, 1998,
commencing at or about 7:00 p.m. in the evening.

* * *

Scottsdale, Arizona

Thursday, September 10, 1998

7:24 p.m

* * *

PROCEEDINGS

MR. RUF: This is Mark Ruf. I am counsel for the plaintiff along with David Malik. It is September 11 [sic], 1998.

First, I would like to put on the record that there has been a stipulation between counsel that Plaintiff's Exhibits 1 and 2 are admissible into evidence.

Is that correct, Mr. Golwasser?

MR. GOLWASSER: That is correct.

MR. RUF: I would also like to put on the record that this deposition is taking place by agreement of counsel; is that correct?

MR. GOLWASSER: That is correct.

MR. RUF: And that there is no objection to any formalities or the manner in which this deposition is being taken; is that correct?

1 MR. GOLWASSER: I waive all formalities.
2 Obviously, I reserve my right to object to any questions
3 which I deem inappropriate.

4 MR. RUF: Okay. Let's proceed with the
5 deposition then.

6 Would you swear in the witness,
7 please.

8 THE VIDEOGRAPHER: This is the videotape
9 deposition of Dr. Kevin Olden, taken by the plaintiff in
10 the matter of Weiss versus Eisenberg, Case No. 326275,
11 held at the Marriott Suites, 7325 East Third Avenue in
12 Scottsdale, Arizona, on September 10, 1998, at the time
13 indicated on the video screen of 7:26 p.m.

14 The court reporter is Carole Yelton
15 from the firm of A.A. Nichols, Inc., Phoenix, Arizona.

16 The legal video specialist is Naomi
17 Valadez in association with A.A. Nichols, Inc.

18 Counsel will now please introduce
19 themselves.

20 MR. RUF: This is Mark Ruf. I am
21 co-counsel for the plaintiff, along with Dave Malik.

22 Mr. Golwasser, could you please
23 introduce yourself? Gary, are you there?

24 Let's go off the record.

1 THE VIDEOGRAPHER: We will go off the
2 record at 7:27 p.m.

3 (Interruption of the proceedings.)

4 THE VIDEOGRAPHER: We're back on the
5 record at 7:30 p.m.

6 MR. GOLWASSER: All right. This is Gary
7 Golwasser from Cleveland, Ohio, representing the
8 defendant Dr. Eisenberg.

9 MR. RUF: Could you please swear in the
10 witness?

11

12

13

14 KEVIN W. OLDEN, M.D.,
15 a Witness herein, after having been first duly sworn,
16 testified as follows:

17

18 EXAMINATION

19 BY MR. RUF:

20 Q. Could you please introduce yourself
21 to the jury?

22 A. My name is Kevin Olden. The last
23 name is spelled O-l-d-e-n.

24 Q. What is your profession?

1 A. I'm a physician.

2 Q. How long have you been a physician?

3 A. I graduated from medical school in
4 1976, and I've been licensed since 1977.

5 Q. What areas of medicine are you
6 trained in?

7 A. I completed training in internal
8 medicine and the subspecialty of gastroenterology and
9 internal medicine. I also trained in psychiatry and did
10 a fellowship in addiction psychiatry.

11 Q. What is gastroenterology?

12 A. It's the study and treatment of
13 diseases of the gastrointestinal tract and liver.

14 Q. Is more than 50 percent of your
15 professional time spent in the active clinical practice
16 of medicine?

17 A. Yes, it is.

18 Q. Where are we now?

19 A. We are at the Marriott in Oldtown,
20 in Phoenix, Arizona

21 Q. Is that where you're currently
22 practicing medicine?

23 A. That's correct.

24 Q. What is the name of the hospital

1 that you're currently practicing at?

2 A. I recently joined the staff of Mayo
3 Clinic Arizona, here in Scottsdale.

4 Q. What hospitals were you on staff at
5 prior to joining the Mayo Clinic?

6 A. Prior to coming to Arizona, I was in
7 San Francisco, and I was on the staff of St. Mary's
8 Medical Center in San Francisco, California Pacific
9 Medical Center in San Francisco, University of
10 California Hospital and the Veterans Administration
11 Hospital in San Francisco.

12 And I also had a psychiatric
13 practice, which was at Sequoia Hospital which was south
14 of San Francisco.

15 Q. Which is a priority for you, patient
16 scheduling and meeting your patient obligations or
17 testifying as an expert in a legal case?

18 A. Well, obviously, patient demands
19 come first, which is why we're doing this in the
20 evening.

21 Q. Does your patient schedule permit
22 you to be present for the trial in Cleveland, Ohio?

23 A. Unfortunately, it does not.

24 Q. Could you tell us about your

1 educational background?

2 A. I received my M.D. in 1976 from the
3 State University of New York, College of Medicine, New
4 York City; completed a medical -- straight medical
5 internship and two years of medical residency at the
6 U.C.L.A., San Fernando Valley, medical residency.

7 From there, I went to Boston and
8 completed two years of psychiatric residency at the
9 Massachusetts General Hospital.

10 After leaving Boston, I returned to
11 Stanford, where I completed a two-year fellowship in
12 substance abuse and gastroenterology at Stanford.

13 From 1983 to '88, I was in practice
14 of internal medicine and psychiatry in San Francisco.

15 In 1988, I returned to complete my
16 training in gastroenterology at the Martinez VA Medical
17 Center in the Bay area.

18 Q. Have you also described your
19 postgraduate training for us?

20 A. Yes.

21 Q. Could you tell us about your
22 certification and licenses?

23 A. I'm licensed in California, licensed
24 in Arizona. I am board certified in internal medicine,

1 in gastroenterology, in psychiatry, and in addiction
2 psychiatry.

3 Q. What does it mean to be board
4 certified?

5 A. Physicians, after medical school,
6 complete a -- a period of training, from one to five
7 years, sometimes it's longer, in an area of specialty in
8 a teaching hospital setting.

9 After they obtain their license and
10 upon completing that program with the recommendations of
11 the director of training at their training program, they
12 are then deemed board eligible, which means that they
13 are intellectually and trainingwise and competencywise
14 felt to be fit to take a specialty board examination,
15 which then, once completed, leads to a -- a certificate
16 of board certification in that particular specialty.

17 Q. Is board certification the highest
18 level of certification a physician can receive in a
19 specific area of medicine?

20 A. In terms of -- of certification,
21 yes.

22 Q. Could you tell us about your
23 experience as a physician?

24 A. My practice, for approximately the

1 last 10 years, has been devoted to gastroenterology, by
2 and large. About 25 percent of the practice are pure
3 general gastroenterology problems.

4 My research interest is the area
5 of -- of stress-induced gastrointestinal disease. So
6 about half of my gastroenterology practice is --
7 consists of that.

8 I also, for about the last 10 years,
9 have maintained a psychiatric practice, but in 1998 that
10 practice was phased out in preparation for my move.

11 Q. How long have you been practicing
12 gastroenterology?

13 A. Well, full time, since 1988.

14 Q. Have you told us about all of your
15 hospital appointments or do you have additional
16 appointments we haven't discussed?

17 A. I think we've covered it.

18 Q. Could you tell us about your
19 academic appointments?

20 A. I, until recently, was Associate
21 Clinical Professor of Medicine and Psychiatry at the
22 University of California, San Francisco School of
23 Medicine.

24 I'm in the process of transferring

1 my appointment to the medical school here in Arizona.

2 Q. Could you tell us about your medical
3 teaching experience?

4 A. Teaching is an interest of mine, and
5 I've been actively involved in teaching, both
6 gastroenterology fellows, residents in internal medicine
7 and psychiatry residents for the last 10 years or so on
8 a -- on a clinical part-time basis until recently.

9 Q. So you've been involved in training
10 and teaching other physicians?

11 A. Correct.

12 Q. Could you tell us the professional
13 societies or memberships that you have in
14 gastroenterology?

15 A. I'm a member of the American
16 Gastroenterological Association, member of the American
17 College of Gastroenterology, a member of the American
18 Society of Gastroenterological Endoscopy, and those are
19 the major GI societies I belong to.

20 Q. Have you performed research in
21 gastrology?

22 A. Yes.

23 Q. Have you been a reviewer to medical
24 journals involving publications on gastroenterology?

1 A. I have.

2 Q. Have you published chapters in books
3 on gastroenterology?

4 A. I have.

5 Q. Have you published medical articles
6 and abstracts on gastroenterology?

7 A. I have.

8 Q. I'm handing you what's been marked
9 as Plaintiff's Exhibit 4.

10 Could you please identify that
11 document?

12 A. It's a copy of my curriculum vitae,
13 which is dated 1/6/98, and is essentially correct except
14 for my -- my recent appointment here in Arizona.

15 Q. Is Plaintiff's Exhibit 4 a true and
16 accurate copy of your CV?

17 A. Yes, except for the recent move.

18 Q. Does the CV discuss your credentials
19 in detail?

20 A. Yes.

21 Q. Have you served as an expert in
22 medical malpractice cases before?

23 A. I have.

24 Q. Have you served as an expert --

1 expert for both the plaintiff patient and the defendant
2 doctor?

3 A. I have.

4 Q. Have you given testimony on behalf
5 of a plaintiff patient and a defendant doctor?

6 A. I have.

7 Q. Could you break down by percentage
8 the amount of time that you've been an expert for the
9 plaintiff patient as opposed to the defendant doctor?

10 A. It -- it runs about 50 over the last
11 10 or 12 years or so.

12 Q. Do you charge for being a medical
13 expert?

14 A. Until recently, yes.

15 Q. What is your charge for being a
16 medical expert in a case?

17 A. Until recently, it was -- it was
18 \$250 an hour for review of records and discussion with
19 counsel and \$450 an hour per deposition.

20 Q. Okay. How has that changed?

21 A. I'm currently on -- on -- on salary,
22 and any -- any income from medical-legal work goes in to
23 support my salary.

24 Q. Could you tell us the difference

1 between gastroenterology and general surgery?

2 A. Gastroenterology is the study and
3 medical treatment of diseases of the liver and
4 gastrointestinal tract, including endoscopic treatment,
5 that is, the use of fiberoptic endoscopes to diagnose
6 and treat.

7 Gastroenterology does not involve
8 the -- the practice of surgery, that is, performing
9 major surgery, opening up the abdominal cavity. That is
10 the purview of the general surgeon.

11 Q. Are all general surgeons
12 endoscopists or do they perform endoscopy?

13 A. No. Some surgeons certainly are,
14 but most surgeons are not.

15 Q. Could you tell us a little bit more
16 about endoscopy? What is endoscopy?

17 A. Endoscopy is a field which evolved
18 with the invention of what we call the fiberoptic
19 endoscopes.

20 And a fiberoptic endoscope is a
21 flexible rubber tube, which is waterproof, and, because
22 of advances in technology is able to have a fiberoptic
23 television cable, a fiberoptic light source that goes
24 through it, as well as small channels to suction water

1 out, to apply water to the colon, and also to insert
2 small instruments, such as biopsy forceps and smears.

3 The major advantage of flexible
4 fiberoptic endoscopy is that these instruments can twist
5 and turn, which allows large segments of the
6 gastrointestinal tract to be intubated and approached
7 for both diagnostic and therapeutic purposes.

8 Q. Are you able to view the inside of
9 the colon or the lumen of the colon with an endoscope?

10 A. Yes.

11 Q. What is the difference between
12 sigmoidoscope and a colonoscope?

13 A. A flexible sigmoidoscope is,
14 basically, a smaller version of the colonoscope. The
15 flexible sigmoidoscope is usually 60 centimeters in
16 length, and the colonoscope tends to be about three to
17 four times that length.

18 Q. What is the total length of the
19 colon?

20 A. It varies, but it -- between
21 individuals, but it tends to be, plus or minus, about
22 9 to 12 feet.

23 Q. Are you able to view the entire
24 length of the colon with the sigmoidoscope?

1 A. No.

2 Q. Are you able to view the entire
3 length of the colon with a colonoscope?

4 A. Yes.

5 Q. Do you have anatomy pictures that
6 you can use to explain to the jury the anatomy of the
7 intestine?

8 A. We do have some pictures and
9 diagrams here, yes.

10 Q. Okay. Could we hold those up and
11 could you explain the anatomy of the colon? First of
12 all, why don't we use Plaintiff's Exhibit 5.

13 Would you hold that up for the jury,
14 please, Doctor? Why don't you hold on one second until
15 she gets this in focus.

16 Okay. Doctor, please proceed.

17 A. If I may borrow a pen just to use as
18 a pointer?

19 Q. Certainly, Doctor.

20 A. Thanks. This is, basically, an --
21 an open view of -- of the abdominal cavity just for the
22 sake of demonstrating various anatomic organs in the
23 colon and, down at the right lower part of the picture
24 here, you can see the beginning of the colon, which goes

1 up through here.

2 And this is what is called the
3 sigmoid colon, which, on the larger picture above, is
4 this area here. That goes up to approximately here, and
5 then, going further up on the left side of the abdomen,
6 that is the descending colon. This is what is called a
7 splenic flexure or the turn which occurs at the spleen.

8 The colon then shoots across; that's
9 called a transverse colon, makes another turn over on
10 the right side at the liver, which is called the hepatic
11 flexure, and then it comes down on the right side.

12 This is the ascending colon, which,
13 in turn, connects to the small bowel with the direction
14 of flow being from here, from the small bowel, up across
15 and down to the anus.

16 Q. Okay. Where is the anus located on
17 that diagram?

18 A. Well, it's not completely visible
19 because this is a view from the front. But, basically,
20 it goes down through here, and it would be right on the
21 other side of this -- this pubic bone here.

22 Q. Could you explain the lengths
23 involved with each portion of the colon?

24 A. Well, the first thing to point out

1 is that the colon, as you can see by this large, yellow
2 structure here, is held up. It has a supporting
3 structure, which is called the mesentery, and it tends
4 to float just like -- a rough analogy would be a hose
5 being held up by a string dangling from a bar above it.

6 So when you go in with your scope to
7 look, this colon will move up and down, will move back
8 and forth, and the -- the distances tend to be somewhat
9 approximate --

10 Q. Excuse me, Doctor. Do you have an
11 illustration to show the different positions of the
12 colon?

13 A. Yeah. We have another picture here,
14 which may work better. Yeah, this is better.

15 Actually, I prefer to use this one
16 if I may --

17 Q. Okay.

18 A. -- just 'cause it has a better view.
19 This is a bigger view.

20 Is this okay?

21 THE VIDEOGRAPHER: (Nonverbal response.)

22 This is coming up from the anus.
23 This is the -- this is the --

24 Q. BY MR. RUF: Excuse me, Doctor. Try

1 and hold it square with the camera.

2 A. How is that?

3 THE VIDEOGRAPHER: Up a little bit.

4 THE WITNESS: This is the anus. This is
5 the rectum. Up here, you'll notice a very sharp turn,
6 which usually occurs at about 19 centimeters, although
7 certainly it can vary in various directions, and that's
8 called the rectosigmoid junction.

9 And then the colon turns up this way
10 to go into the sigmoid colon, and then, once it begins a
11 straight shot to go up, that is the beginning of the
12 descending colon.

13 And this, from here down, is
14 approximately 60 centimeters, but, again, how far you
15 can actually get in with a 60-centimeter scope varies
16 with patients.

17 Q. Where is the splenic flexure?

18 A. Right up here.

19 Q. And how far is the splenic flexure
20 from the anus?

21 A. Again, it varies in distance, but it
22 can be anywhere from -- from as little as about 65 to 70
23 centimeters to as much as 110.

24 Q. And when you do endoscopy, where do

1 you insert the scope?

2 A. It goes up through the anus, and
3 then we just follow the course of the colon, going up
4 this way.

5 Q. Okay. So when you use a
6 sigmoidoscope, how far can you go into the intestine?

7 A. Usually, with a sigmoidoscope,
8 barring any unusual anatomic aspects, we can usually get
9 to right about here, below the splenic flexure.

10 Q. Okay. With a colonoscope, how far
11 can you go?

12 A. Well, by definition, a colonoscope
13 can go through the entire length of the colon over to
14 the cecum, which is the end over on the right side.

15 Q. Could you show us Plaintiff's
16 Exhibits 7 and what that depicts?

17 A. This is, basically, four pictures
18 that demonstrate some of the various positions a colon
19 can assume, normally, in a normal individual, which can
20 make flexible sigmoidoscopy and/or colonoscopy either
21 easier or more difficult.

22 Q. Now, when the colon is in different
23 positions when you take measurements, do you reach
24 different locations when the colon is in different

1 locations?

2 A. Right, exactly right.

3 Q. So if you perform an endoscopic
4 procedure and you go to 30 centimeters one day, and the
5 position of the colon changes, will it necessarily be
6 30 centimeters the next day?

7 A. No.

8 MR. GOLWASSER: Excuse me. Mark, could
9 you try to avoid leading the witness? I need to object
10 to all these questions, and it messes up the
11 transcription here.

12 Q. BY MR. RUF: Doctor, do you have an
13 illustration that shows us the inside or lumen of the
14 colon?

15 A. Well, this is -- this will do it.
16 This sheet here shows at the bottom in the rectosigmoid
17 the colon being cut away to demonstrate what it looks
18 like and also to demonstrate some lesions you might find
19 in this area.

20 Q. Now, when we're talking about the
21 lumen of the colon, what are we talking about, Doctor?

22 A. Well, if you consider the colon a
23 hose, the inside of the hose, the empty space, is what
24 we call the lumen.

1 Q. How thick is the wall of the colon?

2 A. It -- it varies. It is thickest
3 in -- in the part on the left side just before the end
4 of the colon because it requires more muscles to force
5 the stool out. As you go further back, it tends to get
6 thinner. Here, it can be as much as -- as 3, 4
7 centimeters.

8 MR. GGLWASSER: Doctor, where is here?

9 THE WITNESS: I'm sorry. In the
10 rectosigmoid and rectal area.

11 MR. GOLWASSER: Thank you.

12 Q. BY MR. RUF: Doctor, could you tell
13 us the number of endoscopic procedures that you perform
14 per year?

15 A. It varies. In the last year, I've
16 been performing about 40 procedures per month.

17 Q. So, over the course of a year,
18 approximately how many procedures do you perform?

19 A. In '96 and '97, about 600, between
20 450 and 600.

21 Q. Over your professional lifetime, how
22 many endoscopic procedures have you performed?

23 A. It's tough to say because we don't,
24 obviously, keep exact counts. It -- it is fair to say

1 I've performed somewhere between 3 and 5,000.

2 Q. When you perform a sigmoidoscopy or
3 a colonoscopy, what are you looking for?

4 A. Well, it -- a lot of things. It
5 depends why you're doing the sigmoidoscopy in the first
6 place. If somebody has ulcerative colitis or an
7 inflammatory process like ulcerative colitis of the
8 bowel, you, many times, will do it just to see how that
9 known disease is doing.

10 The most common indication for doing
11 flexible sigmoidoscopy is for screening for colorectal
12 cancer.

13 Q. When you're performing either a
14 sigmoidoscopy or colonoscopy, do you only examine the
15 inside of the bowel when you're going in or do you also
16 look coming out?

17 A. You do both.

18 Q. Is one better for examining the
19 colon than the other?

20 A. Well, in general, when you do a
21 colonoscopy or a flexible sigmoidoscopy, the going-in
22 part is just that.

23 Your major goal is to get the scope
24 through to where you need to go, and you're not paying

1 attention, per se, to look at every part of the lumen or
2 the mucosa, the lining of the lumen, and most of the
3 looking, if you will, is done on the way out.

4 Q. What qualifications does a physician
5 need to have to perform either a sigmoidoscopy or
6 colonoscopy?

7 A. Well, it's generic. I mean,
8 certainly, any physician can be trained to do flexible
9 sigmoidoscopy and colonoscopy.

10 It is not something which is the
11 purview of gastroenterologists or surgeons, and, to deal
12 with this, the professional societies have set up
13 guidelines for training in endoscopy.

14 And what is generally accepted as
15 being necessary for competence is one taking a course to
16 understand the cognitive aspects of it and the safety
17 aspects, and, secondly, is to do it under supervision.

18 For flexible sigmoidoscopy, it's
19 recommended that 20 to 25 procedures be done as a
20 minimum with supervision before a person attempts them
21 on their own, and, for colonoscopy, it tends to be about
22 100.

23 Q. Are you familiar with the Olympus
24 brand sigmoidoscope colonoscope -- and colonoscope?

1 A. It's -- it's a leading brand.

2 Q. Have you used an Olympus
3 sigmoidoscope or colonoscope?

4 A. Yes.

5 Q. Are you familiar with its
6 capabilities?

7 A. Yes.

8 Q. What size of an abnormality are you
9 capable of viewing inside the colon with the Olympus
10 sigmoidoscope or colonoscope?

11 A. The scopes have the advantage of
12 going towards or away from a lesion. It's, basically,
13 like -- like bending forward with your eyeglasses to get
14 a better look or something.

15 And, by doing that, you -- you can
16 see something which is actually quite small, down to a
17 millimeter or half a millimeter.

18 Q. Doctor, I'm handing you a ruler.

19 Could you show the jury the size of
20 a millimeter?

21 A. I don't know if I can because it's
22 kind of small. Basically, on the ruler here --

23 Can you see that?

24 THE VIDEOGRAPHER: (Nonverbal response.)

1 THE WITNESS: -- at the bottom, there's a
2 metric scale and the -- there is a line that goes to the
3 end of the ruler. Basically, the space between these
4 two lines is a millimeter if I'm not mistaken. Right.

5 Q. BY MR. RUF: What types of
6 abnormalities can you view with a sigmoidoscope or
7 colonoscope?

8 A. Basically, anything that's in the
9 colon you can see. You can see inflammation of the
10 colon; you can see strictures; you can see polyps; you
11 can see diverticula or out pouchings from the colon.

12 Again, it's a direct view; so
13 anything that's there, you'll see.

14 Q. Are you able to see redness in the
15 colon?

16 A. Yes.

17 Q. Are you able to see edema or
18 swelling in the colon?

19 A. Yes

20 Q. Doctor, what is an exophytic tumor?

21 A. Basically, it's a tumor which --
22 which grows. It has mass effect. It grows into the
23 lumen of -- of the bowel.

24 Q. If someone has an exophytic tumor,

1 can evidence of that exophytic tumor be seen inside the
2 lumen or inside the bowel?

3 A. Yes.

4 Q. Are you able to view changes or
5 abnormalities in the mucosa of the bowel?

6 A. Yes.

7 Q. What is the mucosa of the bowel?

8 A. It's, basically, the lining of the
9 wall. Again, thinking of the colon as a hose and the
10 lumen being the empty space on the inside, the wall of
11 that -- that tube is the mucosa.

12 Q. In performing either sigmoidoscopy
13 or colonoscopy, can you distinguish between
14 diverticulosis and a polyp or cancer?

15 A. They look very different, yes.

16 Q. What is diverticulosis?

17 A. Well, diverticulosis is a condition
18 which occurs with age. It's a very common condition
19 which occurs with age, where there is an out pouching of
20 the colon. Actually, this diagram shows one.

21 And, for reasons that we don't fully
22 understand, the muscles of the wall of the colon get
23 weak, and where the weakness forms, which is right
24 here --

1 Can you see that?

2 THE VIDEOGRAPHER: (Nonverbal response.)

3 THE WITNESS: -- it causes the -- the
4 outside of the colon to bulge out, forming a little
5 bubble as you can see.

6 When you do colonoscopy or flexible
7 sigmoidoscopy, what you will see is something which
8 looks very close to Swiss cheese. It's on this diagram,
9 but it's hard to see. Basically, you see little holes,
10 which -- which disappear into the wall of the colon.

11 If you look from the outside, what
12 you would see are these little out pouches on the
13 outside. But, again, using the scope, where we can only
14 see on the inside, the only thing we see are these
15 little holes coming out.

16 Q. BY MR. RUF: Does that diagram also
17 illustrate colonic polyps?

18 A. It does. There is one over here,
19 and there's -- there's one down here, which actually may
20 be a cancer. I'm not sure what they are calling it.

21 But, basically, a polyp, which you
22 can see here, is a mass which hangs down into the colon,
23 and here is either a polyp or -- or a frank cancer,
24 which also appears as a lumpy, bumpy mass in the colon.

1 So it's the exact opposite of a
2 diverticula, which appears as a hole.

3 Q. How does cancer look different than
4 a polyp, if it does look different?

5 A. You really can't tell. All cancers
6 develop in the colon from polyps unless it's a -- all
7 adenocarcinomas in the colon develop from polyps, to be
8 exact.

9 And what happens is a polyp is a
10 group of cells that has lost their control. They're
11 growing much faster and much more erratically than the
12 surrounding mucosa. And, because of that, they begin to
13 form a mass, and that mass is called a polyp.

14 As polyps continue to grow, the
15 natural tendency of the cells to produce in a regular
16 manner goes down, and, as they keep reproducing, they
17 get more and more bizarre.

18 And, at some point, that bizarreness
19 turns from a normal cell which is reproducing too fast
20 to a cell which doesn't look right, but is, basically,
21 not a cancer, and then it drifts into cancer.

22 So a long -- a long answer,
23 basically, what I'm saying, is that endoscopically many
24 times you can't tell, and when you see a polyp, you need

1 to take it out and have the pathologist look and see if
2 there is any cancer or -- or very erratic, viz. there calls
3 in there which would present a cancer

4 Q Is a polyp an abnormality of the
5 colon?

6 A. Oh, yeah. Adenomatous polyps are
7 not a -- a normal part of the colon.

8 Q What do you mean by adenomas
9 polyps?

10 A. Well, there -- there are a number of
11 different kinds of polyps in the colon. There is a
12 thing called juvenile polyp, which is benign and it is
13 seen in young people.

14 There are very small polyps which
15 are called hyperplastic polyps, and those are polyps --
16 both of those polyps have no tendency to continue to
17 grow and no tendency to turn to cancer

18 Polyps which arise from the natural
19 tissue of the colon, the adenomatous tissue, the mucosal
20 tissue, do continue to grow, and those are the ones
21 that, in -- in their continued growth, almost inevitably
22 turn into a cancer

23 Q Are you able to view sessile polyps
24 with a sigmoidoscope or colonoscope?

1 A. All a sessile polyp means is -- is a
2 flat polyp. There's, basically, two kinds of
3 adenomatous polyps by -- by appearance. One is a
4 pedunculated polyp, which looks exactly like a mushroom.
5 It is a stalk with a mushroomlike cap on top of it.

6 And the other type is a sessile
7 polyp, which is, basically, a little mass, which appears
8 somewhat like a lifesaver without the hole in it or
9 sometimes it looks like a wad of gum if it's beginning
10 to develop irregularly.

11 Q. What size polyps are you able to
12 view with either a sigmoidoscope or colonoscope?

13 A. Well, again, the ability of the
14 scope to go towards or away from any part of the colon
15 allows you to get very close to something to see whether
16 it's a shadow, whether it's a bubble or whether it's
17 actually a polyp. So -- so anything which is visible to
18 the naked eye on close inspection, which would be about
19 a millimeter, you can see quite clearly.

20 Q. Do you have an illustration that
21 shows the layers of the bowel wall?

22 A. Well, I have a couple of
23 illustrations here. Let's see which does it best. This
24 one probably does it best.

1 Can you see that okay?

2 THE VIDEOGRAPHER: (Non-verbal response.)

3 THE WITNESS: Basically, this is the
4 lumen, the hole in here, which is cut away for the
5 purposes of illustration, and -- and then the mucosa is
6 the inner lining of that wall which is cut away.

7 The middle is what's called the
8 muscularis, which is the muscular tissue which helps the
9 colon contract, and then, on the outside, is the serosa
10 or the waterproofed outer coating of the colon or this
11 hose, as we might allude to it for illustrative
12 purposes, which is in contact with the outside of the
13 bowel cavity.

14 Q. BY MR. RUF: If you have a polyp
15 that's growing in the colon, what part of the bowel wall
16 does that grow out of?

17 A. Well, it grows out of the mucosa.
18 It starts in the mucosa, and it moves into the -- into
19 the lumen of the bowel, and then, as it continues to
20 grow, it will grow into the middle of the bowel wall,
21 the muscularis.

22 And, ultimately, as it gets -- gets
23 larger still, it will break through into the outside,
24 but, at that point, it's usually a cancer.

1 Q. What are the indications or reasons
2 for performing a sigmoidoscopy for colon cancer?

3 A. That's an interesting question
4 because t's a concept in evolution. For about the last
5 15 years or so, for colon cancer screening in people
6 over the age of 40, 45 or 50, depending on the study you
7 read, since most cancers of the bowel occur within --
8 60 percent of cancers of the bowei occur within reach of
9 the flexible sigmoidoscope.

10 Flexible sigmoidoscopy was advocated
11 as a screening test because, one, it was easy to do;
12 two, it was cheaper than colonoscopy for a number of
13 reasons, and, secondly, 60 percent of cancers of the
14 colon could be found within reach of the scope. That's
15 the major use of flexible sigmoidoscopy.

16 Q. What are the indications or reasons
17 for performing a colonoscopy?

18 A. Well, again, a concept in evolution.
19 Over the last three to five years, there has been a move
20 towards doing full colonoscopies, i.e., examining the
21 entire colon for colon cancer because, again, the
22 sigmoidoscopy would detect 60 percent of cancers in most
23 studies, but, of course, then, 40 percent go undetected.

24 And because of the long time it

1 takes for a polyp to turn into a cancer, which is
2 measured in years, the latest thinking is to do a
3 colonoscopy every 10 years, which will clear the colon
4 with a flexible sigmoidoscopy every five years.

5 Now, I'm sure those recommendations
6 are going to change, but what is not changed and what is
7 well established is that if someone has rectal bleeding,
8 if somebody has a family history of colon cancer, if
9 somebody has other cardinal signs of a colon cancer,
10 such as anemia or weight loss or a change in bowel
11 habits, such as constipation, which is getting worse for
12 no understandable reason, or pain in the colon, then you
13 would proceed with a colonoscopy as opposed to just a
14 flexible sigmoidoscopy.

15 Q. What are the risks or drawbacks to
16 using a sigmoidoscope when you're screening for colon
17 cancer?

18 A. Well, the biggest risk -- the
19 biggest risk both for colonoscopy and for sigmoidoscopy
20 is perforation of the colon, and that is, by and large,
21 avoidable because, again, both of these instruments are
22 passed under what we call direct visualization, i.e.,
23 you look where you're going.

24 And perforation can usually be

1 avoided, and also perforation is quite rare in both of
2 the procedures, fortunately.

3 Q. Do polyps or cancers grow outside of
4 the reach of the sigmoidoscope?

5 A. Yes, they do.

6 Q. What are the potential complications
7 that can result from colonoscopy or sigmoidoscopy?

8 A. Well, colonoscopy is a bigger exam,
9 and you're going through more of the colon. So the risk
10 of perforation is higher because you have a bigger scope
11 in the patient.

12 Colonoscopy is done with sedation.
13 The patient is -- is sleepy, but not completely
14 unconscious, and, sometimes, you can get complications
15 from that. People can get into respiratory distress and
16 the like.

17 And if you do a therapeutic
18 maneuver, if you take a polyp out, then you run the risk
19 of bleeding after the polyp has been removed.

20 Q. What is the complication rate for
21 either colonoscopy or sigmoidoscopy?

22 A. Well, it depends on the complication
23 and -- and depends on the procedure. It, basically, for
24 both of them, for both sigmoidoscopy and for

1 colonoscopy, simply stated it's less than 1 percent.

2 Q. Are sigmoidoscopies and
3 colonoscopies safe procedures?

4 A. Yes.

5 Q. How often do you encounter
6 diverticulosis in performing either a sigmoidoscopy or
7 colonoscopy?

8 A. Well, about 30 -- 30 to 50 percent
9 of people over the age of 50 will have some degree of
10 diverticulosis, and that is just a natural part of the
11 aging process in most people.

12 And given that most flexible
13 sigmoidoscopies for colon cancer screening are done in
14 people 50 years or older, it's not uncommon. I mean, I
15 couldn't give you an exact percentage, but I would guess
16 it would be double digits somewhere between 10 -- 10 and
17 30 percent.

18 Q. Can diverticulosis cause problems in
19 performing a sigmoidoscopy or colonoscopy?

20 A. Yes, it can. Again, diverticulosis,
21 when you're looking into the colon, gives the appearance
22 of Swiss cheese. You have multiple holes, and the
23 challenge is to find which is the right hole to go down.

24 The other thing which occurs is that

1 these out pouchings, which we had here before -- and you
2 can see on the outside of the colon, this is what a
3 diverticula looks from the outside -- they sometimes
4 will get stuck to adjacent parts of the bowel or the
5 mesentery.

6 And they act like little rubber
7 bands tractioning the colon, making it harder to move
8 the colon as you go through here with your scope So it
9 sometimes makes it a more technically difficult
10 procedure because of that.

11 Q. Do you automatically discontinue
12 either sigmoidoscopy or colonoscopy if you encounter
13 diverticulosis?

14 A. No. Diverticulosis, per se, the
15 mere presence of it, is not a contraindication to
16 colonoscopy or to sigmoidoscopy.

17 Q. What are the indications for
18 stopping either a sigmoidoscopy or colonoscopy due to
19 diverticulosis?

20 A. For sigmoidoscopy, where the patient
21 is not sedated, if they have a lot of pain, certainly
22 pain and discomfort on the part of the patient is a
23 reason to stop the procedure because, again, this
24 tractioning of the colon from the outside by these

little -- little out pouchings, and, secondly, sometimes the diverticula distort the lumen so much that you really cannot find which of these little Swiss cheese holes is the right one and you can't do it.

So that's an indication, because you can't, basically, pass the scope; you can't find your way through, to discontinue the procedure.

Q. Over the course of a year, how many times do you have to discontinue either a sigmoidoscopy or colonoscopy due to diverticulosis?

A. About one or two a year.

Q. And that's out of 6 or 700 procedures a year?

A. Well, of those 6 or 700, about half of those are colonoscopy or sigmoidoscopy. So it would be about one or two out of 300 plus or minus.

Q. Have you reviewed Dr. Eisenberg's records for Edith James?

A. Yes.

Q. And Dr. Eisenberg's records, in performing some of the sigmoidoscopies, he did not advance the scope any further due to spasm.

Is that an indication or a reason not to go further?

1 A. Well, it can be. Spasm, basically,
2 is a clamping down of the colon, and it's usually a
3 clamping down which occurs in response to the air which
4 needs to be inflated into the colon to spread the colon
5 apart so you can see what you're looking at.

6 If a patient is having discomfort
7 with that and the spasm is so bad that you can't get
8 through on sigmoidoscopy, that is usually an indication
9 to discontinue the sigmoidoscopy and to come back and
10 attempt colonoscopy, which can be done under sedation,
11 and usually, when the patient is sedated and relaxed,
12 that spasm doesn't occur.

13 Q. Are there techniques that can be
14 used by qualified endoscopists to advance a scope
15 through diverticulosis?

16 A. We do it all the time.

17 Q. Is that a skill that an endoscopist
18 should have?

19 A. You have to have it because so many
20 people have diverticulosis.

21 Q. Is there any evidence in
22 Dr. Eisenberg's records that would justify not advancing
23 the scope to the full length that the scope was capable
24 of going?

1 A. Well, again, that's a decision for
2 the endoscopist at that moment. If you can't proceed
3 beyond a certain point because you don't know where the
4 lumen is or because there's intense spasm, it
5 certainly -- if you feel uncomfortable, it is prudent to
6 discontinue the procedure at that point, but then you --
7 what you need to do is come back, and there are
8 alternative strategies to overcome that.

9 Q. In 1993 through 1995, Dr. Eisenberg
10 used a flexible sigmoidoscope that was capable of going
11 to 45 centimeters.

12 Did the standard of care for a
13 physician require him to advance the scope to the full
14 45 centimeters?

15 MR. GOLWASSER: Objection.

16 MR. RUF: Please answer, Doctor.

17 THE WITNESS: Okay. I'm sorry. Would you
18 repeat the question?

19 Q. BY MR. RUF: Certainly.

20 MR. RUF: Could you please read back the
21 question.

22 (Pending question read.)

23 THE WITNESS: Well, that question is
24 really answered by percentages. In an individual case,

1 at an individual sitting, it may be prudent and
2 reasonable not to advance to 45 centimeters, the full
3 length of the scope.

4 The way we measure competence is the
5 ability to go to the length of the scope, in this case,
6 45 centimeters, a percentage of the time.

7 And for flexible sigmoidoscopy,
8 competence is judged to be achieving full insertion of
9 the scope to its limit in 95 percent of the time.
10 Anything below 90 is really a quality assurance issue.

11 MR. GOLWASSER: Excuse me. For the record
12 here, I'm going to object based upon the discovery
13 deposition of Dr. Olden in which he outlined his
14 criticisms as to Dr. Eisenberg's standard of care and
15 assured me on the record that for trial testimony, if
16 there was any change or additions to that testimony, I
17 would be advised.

18 There was no testimony on the
19 subject just alluded to by the doctor. Thus, at trial,
20 I will be objecting to this portion of the transcript.

21 You may proceed.

22 MR. RUF: Well, for the record, in his
23 deposition, he simply responded to your questions. He
24 could not anticipate the questions I was going to ask

1 in the trial deposition.

2 And if that is your motion, then I'm
3 going to move in limine to preclude your witnesses from
4 stating anything other than what they've stated in their
5 depositions.

6 MR. GOLWASSER: Mark, I'm not here to
7 argue a motion in limine. I'm here to make an objection
8 on the record. Let's continue, please.

9 Q. BY MR. RUF: Does the standard of
10 care require an endoscopist to carefully examine the
11 bowel?

12 A. Yes.

13 Q. What are the risk factors for colon
14 cancer?

15 A. One is a family history of previous
16 colon cancer Two, in some patients, a history of
17 ulcerative colitis, which is a chronic inflammatory
18 disease of the bowel which can just change the DNA in
19 the cells.

20 And there's a strong tendency for
21 those cells to become cancerous after about 10 or 15
22 years of disease, and the most common thing in the
23 population at large is the presence of chronic polyps.

24 Q. I'm sorry. Did you say age is a

1 risk factor?

2 A. Well, age is indirectly because, as
3 we get older, we have a stronger tendency to generate
4 polyps.

5 Q. What percentage of people die from
6 colon cancer after the age of 50?

7 A. About half. It's a disease of mid
8 and late life.

9 Q. Does the risk increase as you get
10 older?

11 A. Yes.

12 Q. For each decade, how much does the
13 risk increase?

14 A. It depends on the studies, but,
15 basically, it goes up about 10 percent per decade or
16 1 percent per year over the age of 50.

17 Q. You said polyps are a risk factor?

18 A. They are.

19 Q. What factors of a polyp increase
20 that polyp for being at risk for being cancer?

21 A. Well, again, a polyp starts as an
22 acorn, if you will, and if it's an adenomatous polyp, it
23 will continue to grow and its DNA, its genetic material
24 will get increasingly distorted, and somewhere, as it

1 continues to grow, it will turn into a cancer.

2 So, again, we -- we have to evaluate
3 this on percentages, and the number for where a polyp's
4 size tends to be more likely than not be a cancer is at
5 about 1 centimeter

6 Q. Is size the biggest risk factor for
7 a polyp being cancer?

8 A. If it's an adenomatous polyp, yes

9 Q. What is atypia?

10 A. Atypia is the intermediate state
11 between a polyp with no cancer and a frank cancer where
12 the genetic material is starting to get increasingly
13 erratic and bizarre.

14 Q. Is atypia a risk factor for a polyp
15 being cancer?

16 A. Atypia in a polyp means that is a
17 polyp headed straight for cancer, yes.

18 Q. What is a synchronous polyp?

19 A. A synchronous or, actually, what is
20 called a synacrenous polyp in a colon -- in a person's
21 colon to have a second polyp in another part of the
22 colon if you find one.

23 Q. Is there a risk of synchronous
24 polyps for somebody that has one polyp?

1 A. Yes. It runs about 30 to 50
2 percent.

3 Q. So there is a 30 to 50 percent
4 chance that if you find a polyp, there is a polyp
5 farther into the colon?

6 A. Right.

7 Q. Does having one polyp put somebody
8 at increased risk for developing polyps in the future?

9 A. Yes.

10 Q. What is the percent chance of
11 developing polyps in the future if you have grown one
12 polyp?

13 A. It runs -- again, depends on the
14 study, but it can be as little as 10 percent and as much
15 as 30 to 40.

16 Q. What is the risk of a polyp
17 2 centimeters or greater being cancerous?

18 A. It's very high. It's in the 75
19 percent range and higher.

20 Q. Did Edith James have any risk
21 factors for cancer?

22 A. She had a father who apparently died
23 of colon cancer, and family history is a risk factor.

24 She was older. Again, the older you

1 are the more likely you are to form polyps, and, indeed,
2 she was found to have a polyp, which is an independent
3 risk factor.

4 Q. When was she first found to have a
5 polyp?

6 A. In 1985.

7 Q. Was she at an increased risk after
8 1985 for developing either polyps or colon cancer
9 because of that?

10 A. Yes,

11 Q. What are the methods or tests a
12 physician can use to screen for colon cancer?

13 A. Flexible sigmoidoscopy, colonoscopy,
14 barium enema, and also what's called fecal occult blood
15 testing or checking the stool for minute amounts of
16 blood.

17 Q. What is a barium enema?

18 A. Barium enema is a procedure where
19 you -- you clean the colon of any extraneous material
20 i.e., stool, and you infuse -- I'm going to use the
21 graph -- from the rectum barium, which is forced up
22 under pressure through the entire colon.

23 And, basically, if there is a -- a
24 defect in the colon, as you would see here, this is a

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Q. What is the error rate for barium enema?

A. It -- it depends on -- on the radiologist. Again, there are multiple studies, some coming out of top notch university centers where the error rate is extremely low.

Other studies, looking at large departments of radiology in general hospitals, it can be as low as 5 percent to as high as 48 percent -- 48 or 50 percent.

Q. Can barium enema produce false positives?

A. Sure.

Q. How does that occur?

A. Well, again, a barium enema is a picture of a shadow. If there is a defect in the colon, it creates a shadow. And a shadow can be caused by many things.

It can be caused by a tumor or polyp. It can be caused by a piece of stool which

1 didn't find its way out of the colon. It can be caused
2 by a piece of the colonic wall pushing in, just because,
3 at the time of the picture, the colon was bending in a
4 way to create a little defect on the inside of the
5 colon. All of those look very similar on the x-ray.

6 Q. Can there be problems with filling
7 the colon with the barium?

8 A. Sure. People -- some people,
9 particularly older people, don't hold the barium well
10 They get a sense of rectal urgency and have to go to the
11 bathroom, and, when they do that, they drain the barium
12 out

13 Q. What did you say a guaiac stool test
14 is or a fecal occult test is?

15 A. Basically, it's a chemical test
16 where we take a little piece of stool, either obtained
17 directly or a rectal exam done by the physician or the
18 patient submits a little fleck of stool from one of
19 their bowel movements.

20 And then we test it on a piece of
21 paper which is chemically treated. And you put a drop
22 of this developing solution on it, and if there's -- if
23 there's hemoglobin in it, i.e., one of the components of
24 blood, it will turn a color, usually blue, and that

1 suggests that you've got bleeding someplace in the GI
2 tract.

3 Q. What is the error rate with a guaiac
4 stool test or fecal occult test?

5 A. It's about 30 percent. The -- the
6 test is very sensitive. That is, if you're bleeding
7 from anything, including your gums on down through the
8 pipe, you can -- it will be detected.

9 Because it detects the protein that
10 is present in blood, it is frequently influenced by the
11 presence of red meat because red meat has hemoglobin and
12 iron in it.

13 It is frequently influenced by
14 drugs. Certain drugs, such as aspirin, can influence
15 it, and certain vitamins, particularly vitamin C, can
16 influence it.

17 So there's a lot of -- and iron, if
18 you're taking iron pills it can also influence it,
19 obviously. So that's one problem with the test.

20 The other problem with the test is
21 that most lesions of the gastrointestinal tract don't
22 bleed on a regular basis. They tend to ooze, and the
23 challenge is to pick up a drop of blood on your chemical
24 test when it's passing through the rectum.

1 So, the point is, you can have a
2 tumor which is bleeding further up the pipe a little bit
3 because they don't bleed a lot and the blood hasn't
4 gotten down to the bottom yet, or if the person is
5 moving their bowels fairly quickly, the blood can be
6 washed out very quickly. So it's easy to miss blood in
7 the stool.

8 Q. Can a guaiac stool test or fecal
9 occult test produce false positives?

10 A. Sure.

11 Q. What is the error rate for
12 sigmoidoscopy or colonoscopy?

13 A. It's -- it's much lower, again,
14 because the beauty of those tests is you look directly
15 at the colon. So you, basically go up and look at the
16 area you're concerned about.

17 It's also operator dependent. Most
18 studies would agree that the high is about 17 percent to
19 a low of about 2 to 3 percent for sigmoidoscopy and
20 colonoscopy.

21 Q. What is the most accurate and
22 reliable test for detecting either polyps or cancer in
23 the colon?

24 A. Well, speaking of the entire colon,

1 colonoscopy is the gold standard. No test is better
2 because you're examining the colon inch by inch.

3 For a cancer in the -- in the
4 region, which can be reached by a sigmoidoscope, that is
5 also the gold standard for the same reason.

6 Q. Could you show us on the anatomy
7 chart where 45 centimeters would be located?

8 A. Well, again, it -- it varies between
9 patients because of -- of movements in the individual
10 anatomic position of the person's colon.

11 But 40 centimeters would be about
12 here, up in the descending colon above the rectosigmoid,
13 approximately halfway between the splenic flexure up
14 here and the beginning of the sigmoid colon down here.

15 Q. So what portion of the bowel would
16 be left unexamined if you performed a sigmoidoscopy to
17 45 centimeters?

18 A. Well, again, here -- here was our
19 cutoff in the mid descending colon. It would be
20 anything -- I'm sorry -- it would be anything proximal
21 to this, which would be this whole area going back
22 through the transverse into the ascending colon and
23 cecum.

24 Q. Do you know what the percent chance

1 of there being a polyp or cancer in the unexamined part
2 of the colon?

3 A. Depends on the situation. If you --
4 if you have a polyp in the examined portion of the
5 colon, on sigmoidoscopy it will be about 50 percent plus
6 or minus.

7 If you -- if you are doing it de
8 novo, we know, as I said before, that 60 percent of
9 cancers will be within the reach of the sigmoidoscope at
10 60 centimeters. Most sigmoidoscopes are 60 centimeters.

11 And 40 percent of the cancers will
12 not be able to be seen by that instrument.

13 Q. What does the standard of care
14 require if a polyp is found on barium enema?

15 A. Finding a polyp in the colon,
16 irrespective of how you detect it, is an indication for
17 full colonoscopy, the entire colon, basically.

18 Q. What does the standard of care
19 require if a polyp is found on sigmoidoscopy?

20 A. Same answer.

21 Q. What does the standard of care
22 require if a polyp is found on colonoscopy?

23 A. Same answer, but it's a
24 self-answering question because you're doing what you're

1 supposed to be doing, which is a colonoscopy.

2 Q. If you find a polyp, then what does
3 the endoscopist do with that polyp, if anything?

4 A. It takes it out. Again, a polyp
5 left untended is a polyp which will continue to grow
6 and, basically, inevitably turns into a cancer given
7 enough time. So what you want to do is get that thing
8 out before it gets any bigger.

9 Q. Does the standard of care require a
10 physician to remove a polyp if one is discovered on
11 endoscopy?

12 A. Yes.

13 Q. Now, when we're talking about
14 standard of care, is that a national standard?

15 A. The studies looking at the diagnosis
16 of colon cancer, the screen for colon cancer have all
17 been national or, in some cases, international studies
18 So it is a national standard, yeah.

19 Q. Does the practice of medicine differ
20 state by state?

21 A. Not in this instance, no.

22 Q. Do you practice medicine any
23 differently in Arizona than you did in California?

24 A. No.

1 Q. Is the standard of care any
2 different in Arizona than it is in California?

3 A. Not to my knowledge, no.

4 Q. If you would practice medicine in
5 the state of Ohio, would you practice medicine any
6 differently?

7 A. I don't think so.

8 Q. Could you tell us what the cancer
9 polyp sequence is?

10 A. Well, basically, we've -- we've
11 talked about it indirectly. It -- it is the natural
12 tendency, indeed, the inevitable tendency of an
13 adenomatous polyps with time to go from a polyp with no
14 atypia, i.e., no bizarre DNA in cells to one with
15 atypia, which means it's really starting to go awry and
16 then it progresses on to a full-blown cancer.

17 Q. What medical evidence is there that
18 cancer originates from benign polyps?

19 A. Well, I mean, there is a lot.
20 Without getting into it, it is an unequivocally
21 established fact at this point.

22 Q. What is the amount of time that it
23 takes for the cancer polyp sequence to occur?

24 A. Again, these are hard studies to do

1 because we don't go in and find a polyp on day one.
2 Usually -- it's usually been there for a while.

3 Our best understanding is it will
4 take about five years for a polyp to go from nothing,
5 you know, the beginning of a couple of cells turning
6 into a polyp to a cancer.

7 Q. Do you have an opinion based on
8 medical certainty as to whether Edith James's cancer
9 that was diagnosed in October 1995 originated from a
10 benign polyp?

11 A. Again, adenocarcinoma of the colon
12 always arises from a polyp which sometime in its life
13 was not a cancer.

14 Q. So can you say with medical
15 certainty that her cancer originated from a polyp?

16 A. I can.

17 Q. And do you still hold that opinion
18 even if there was no benign adenoma found on surgical
19 pathology when pathology was performed on the colon
20 cancer?

21 A. I'm sorry. Say that again.

22 Q. Sometimes, do you find evidence of
23 benign adenomas when a cancer is removed?

24 A. Sure. Again, polyps have a life

1 span from normal polyp, no atypia, to increasing atypia
2 to frank cancer.

3 It's not unusual as a polyp grows in
4 size for it to be growing in different ways. One part
5 has not reached the malignant stage yet; other parts
6 have become frankly cancerous.

7 Q. If no benign adenoma is found along
8 with the cancer, does that mean that the cancer did not
9 originate from a polyp?

10 A. No. It just means the -- the polyp
11 has reached full maturity, if you will. It's turned
12 completely into a cancer. It's growing wildly.

13 Q. Do you always find evidence of a
14 benign adenoma in cancer?

15 A. No. I mean, many times, you go up
16 and find nothing but a cancer.

17 Q. Doctor, could you explain the
18 concept of surveillance?

19 A. Well, the whole basis for colon
20 cancer prevention is to get polyps out before they grow
21 to any significant size and turn into cancers.

22 And surveillance means, basically,
23 looking in people's colons on some sort of reasonable --
24 or not reasonable -- regular basis to make sure that

1 there - there are no polyps growing there from the last
2 time

3 It is something that has to go on in
4 times and that differs for different patients
5 depending on their personal history, depending on their
6 age

7 Q What were the standards of care
8 require of a physician in performing surveillance on a
9 patient following removal of a benign adenoma in some
10 over the age of 50?

11 A. Again, it depends on the size of the
12 polyp. If -- if somebody has, on initial exam, a small
13 adenomatous polyp, and that is, these days, usually
14 polypoid as less than 5 millimeters.

15 There is some debate whether you
16 should get a colonoscopy in one year or three years or
17 five years or, in some studies it's suggesting not at
18 all.

19 If somebody has a large polyp, and
20 that being defined as a polyp greater than 1 centimeter,
21 which is removed at the initial colonoscopy, the medical
22 literature is clear that follow-up colonoscopy should
23 occur within one year.

24 Q. And then, after the first year, what

1 does the standard of care require?

2 A. Well, again, it's -- it's somewhat
3 in flux. There are some studies which say you should
4 have two negative colonoscopies, i.e., year one, you
5 find a polyp; year two, you do a colonoscopy; it's
6 negative and then, you do another colonoscopy the year
7 after that. And if it's negative then you can stretch
8 the person's surveillance out.

9 Other studies say you can do one
10 follow-up colonoscopy in one year and if that's
11 negative, you can stretch the follow-up out to three to
12 five years.

13 Q. Did Edith James have a benign
14 adenoma?

15 A. In 1985, she did, yes.

16 Q. What was the size of that adenoma?

17 A. It was about 2.5 centimeters, if I
18 remember correctly.

19 Q. How was adenoma discovered?

20 A. It was discovered on colonoscopy.

21 Q. Was it seen on barium enema prior to
22 the colonoscopy?

23 A. Prior to colonoscopy, yes.

24 Q. What happened to that benign

1 adenoma?

2 A. It was removed endoscopically by
3 Dr. Eisenberg.

4 Q. What is the name of the procedure
5 when you remove a polyp?

6 A. It's called polypectomy.

7 Q. What did the standard of care
8 require Dr. Eisenberg to do following the removal of
9 that polyp in 1985?

10 A. Well, one, was to determine the
11 pathology, which he did, and then, given the fact that
12 it was an adenoma, and given the fact that it was a
13 large adenoma in the sigmoid, my understanding of the
14 medical literature is that the standard of care dictated
15 you do a follow-up colonoscopy in one year.

16 Q. And then, after the first year, what
17 did the standard of care require of him?

18 A. It would depend on the findings. If
19 the colonoscopy at one year was negative, which I will
20 assume that it would have been, then Mrs. James, because
21 of her history at that point, i.e., her tendency to form
22 polyps, deserved follow-up colonoscopy between every
23 three and every five years after that.

24 Q. So what's the total number of

1 colonoscopies that the standard of care required
2 Dr. Eisenberg to perform between 1985 and 1995 when the
3 cancer was discovered?

4 A. Well, assuming that she would have
5 had a follow-up colonoscopy one year later in 1986, that
6 would have been one.

7 Assuming that that would have been
8 negative, that would have been '86 plus five, which
9 would have made her due for a second colonoscopy in
10 1991, and, if that was negative, then she would have
11 been due for another colonoscopy in 1996.

12 Q. And does the standard of care
13 require a colonoscopy to be performed even if the
14 patient is asymptomatic?

15 A. Well, that's the treacherous thing
16 about colon cancer. Most patients are asymptomatic
17 until the disease is quite advanced.

18 Q. Does the standard of care require
19 surveillance by colonoscopy even in the absence of
20 symptoms for somebody that has had a polyp removed?

21 A. Right. Precisely. Because a polyp
22 will return and grow quite silently. There will be no
23 symptoms except in the most unusual cases, and the only
24 time the person will become symptomatic is when that

1 polyp has grown into a full-blown cancer.

2 Q. How many colonoscopies did
3 Dr. Eisenberg perform between 1985 and 1995?

4 A. I believe he performed two, one and
5 an attempted second one.

6 Q. When was the second one attempted?

7 A. 1995.

8 Q. Is barium enema a substitute for
9 colonoscopy?

10 A. In -- in some settings, it can be.
11 And it is okay, provided it's performed with flexible
12 sigmoidoscopy because barium enema does not do a good
13 job of identifying the very distal part of the colon.

14 And if the -- if the prep is good,
15 if the patient has a very clean colon and, finally, if
16 the person has a colon where a cancer, basically, can't
17 hide; it's not twisting and turning and has little nooks
18 and crannies where a cancer can hide.

19 Q. I'm handing you Plaintiff's
20 Exhibit 1.

21 Could you take a look at
22 Dr. Eisenberg's notes for 1987?

23 A. First note is August, I think, 13,
24 1987.

1 Q. Did Dr. Eisenberg schedule a
2 colonoscopy for Edith James?

3 A. He did.

4 Q. Was the colonoscopy performed?

5 A. No, it was not.

6 Q. Why was the colonoscopy canceled?

7 A. Ms. James either -- I think she
8 canceled it. Either she canceled or she no showed; I
9 can't remember. It doesn't say. It just says,
10 "canceled until further notice per patient request".

11 Q. If a patient cancels a colonoscopy,
12 what does the standard of care require of a physician?

13 A. Well, again, the stakes are high in
14 a case like this, and it's important that the physician
15 make a reasonable attempt, I mean, to get this done or
16 to respect the patient's informed wishes not to have it
17 done.

18 And what most physicians will do is
19 they will send a letter to the patient, if not a
20 registered letter, basically, outlining the concern
21 about a possible recurrent polyp, the risk of cancer and
22 the need for an exam, which the patient can then, at
23 that point, either accept or decline based on their own
24 desires.

1 Q. Does the standard of care require a
2 physician to reschedule a colonoscopy within a certain
3 amount of time if it's canceled by the patient?

4 A. The physician has an obligation to
5 make a good faith effort to schedule a colonoscopy or to
6 document that good faith effort and the patient's
7 refusal to go forward.

8 Q. Could you please read the note of
9 September 8, 1987 in Dr. Eisenberg's records out loud?

10 A. It says -- "9/8/87, phone," which I
11 take to mean phone call, "barium enema is negative
12 except for diverticulosis; therefore, no need for
13 colonoscopy".

14 Q. Based upon that note, would that
15 give reassurance to a patient that they did not need a
16 colonoscopy?

17 A. To a patient who wasn't
18 sophisticated in colon cancer screening techniques, yes

19 Q. Would that assurance be
20 well-founded?

21 A. Unfortunately, no. Again, there is
22 a lot of subtleties in colon cancer screening, and, in
23 my opinion, it would have not in this particular case
24 because of -- of the diverticulosis or the presence of

1 significant amounts of diverticula that Ms. James had.

2 Again, these are the kinds of
3 lesions that form, these little Swiss cheese-like out
4 pouchings, in the colon, and cancers can hide in those,
5 and it's very difficult for a barium enema, one, to pick
6 up a cancer within diverticulosis in the colon.

7 And, secondly, it -- the barium
8 enema is least effective in the distal part of the
9 colon, which is where Mrs. James' diverticulosis was,
10 and also that's where her previous polyp was. So it
11 wasn't an ideal test for this particular patient.

12 Q. Did the standard of care require
13 Dr. Eisenberg to reschedule the colonoscopy following
14 September of '87?

15 A. It certainly wasn't an emergency.
16 That is, it didn't have to be scheduled within the next
17 few weeks, but certainly within a year, the year being
18 1987, a colonoscopy should have been performed to follow
19 up on the original polyp of 1985.

20 Q. Did he perform a colonoscopy within
21 a year?

22 A. No, he didn't.

23 Q. Is colon cancer treatable?

24 A. It is. It can be.

1 Q. What is the number one factor for
2 survival in the patient with colon cancer?

3 A. Early detection.

4 Q. What is the most effective way to
5 decrease the mortality rate for colon cancer?

6 A. Early detection and treatment,
7 removal of the lesion.

8 Q. Okay. I'm handing you what's been
9 marked as Plaintiff's Exhibit 9.

10 Could you please identify that
11 document?

12 A. It is labeled a "Colon Cancer Fact
13 Sheet".

14 Q. What information is relevant in that
15 Colon Cancer Fact Sheet?

16 MR. GOLWASSER: Excuse me. Because I'm
17 not there, what is that exhibit? What is that exhibit?

18 MR. RUF: It's what he said. It's a half
19 page exhibit, Colon Cancer Fact Sheet.

20 MR. GOLWASSER: Where did it come from?

21 THE WITNESS: I can tell you because I
22 just noticed something, Mr. Golwasser. It s -- it's
23 from -- up in the right-hand corner of the exhibit, it
24 has the web site address for the American College of

1 Gastroenterology. So I would assume it came from the
2 American College of Gastroenterology web site.

3 MR. GGLWASSER: All right. For the
4 record, I'm going to object to that exhibit. You may
5 continue your inquiry.

6 Q. BY MR. RUF: Is the information
7 listed in Plaintiff's Exhibit 9 accurate and reliable,
8 based upon your education and experience?

9 A. Give me a second to read it here if
10 I may.

11 MR. GGLWASSER: Show an objection again,
12 please.

13 THE WITNESS: I would agree with this,
14 yes.

15 Q. BY MR. RUF: Is there any
16 statistical information in Plaintiff's Exhibit 9 that is
17 relevant to this case?

18 MR. GOLWASSER: Objection.

19 THE WITNESS: Basically, it says,
20 "Colorectal cancer is the number two cancer -- number
21 two killer in the United States. Yet, it is one of the
22 most preventable, curable. Early detection and
23 intervention can reduce mortality from colorectal cancer
24 by up to 90 percent."

1 MR. GOLWASSER: Excuse me. For the
2 record, I move that the doctor's answer be stricken. It
3 is clearly contrary to Ohio Rules of Evidence for any
4 expert to read from a text or journal source in direct
5 examination. You may proceed.

6 Q. BY MR. RUF: Based upon your
7 education and experience, if there is early detection
8 and intervention, what is the potential reduction in the
9 mortality rate for people with colon cancer?

10 A. Well, it's very high. I mean,
11 theoretically, it's 100 percent with -- with very
12 aggressive screening.

13 The major impediment to screening --
14 aggressive screening to reduce mortality to zero is the
15 fact, one, that it's expensive, and, two, many people
16 will not accept the somewhat inconvenient nature of the
17 exact, i.e., examination of their colon.

18 Q. What is the cure rate for colon
19 cancer if a polyp is detected and excised or cut out of
20 the colon?

21 A. Depends on the polyp. But, usually,
22 if it's a polyp with some cancer in it or so-called
23 carcinoma in situ, it can be cured endoscopically, taken
24 out endoscopically, and the issue is closed.

1 Q. What is the cure rate for colon
2 cancer if you have a primary lesion of 2 centimeters or
3 less?

4 A. Well, again, 2 centimeters or less,
5 going smaller, the smaller the polyp the less chance of
6 cancer. So it continues to go up. It could approach
7 100 percent.

8 Q. What is the cure rate for colon
9 cancer if the primary lesion has spread to the lymph
10 nodes?

11 A. Again, the more the tumor spreads,
12 the worse the prognosis. If it spreads out to the lymph
13 nodes, that is called Duke's, D-u-k-e's B2, and that
14 runs about a 30 to 50 percent five year survival.

15 Q. Do you have an illustration that
16 shows the lymph nodes around the colon?

17 A. I'll give you that back. Oh, here
18 we go. This exhibit, which is marked Plaintiff
19 Exhibit 8, is a very nice drawing of the lymph nodes.

20 Here's the colon up here, and these
21 blue dots that surround the colon towards the center of
22 the abdominal cavity are the lymph nodes. So you can
23 see how the colon is very closely aligned with, and it's
24 actually drained by the lymph nodes in the abdomen.

1 Q. Are the lymph nodes located outside
2 the actual wall of the colon?

3 A. Right.

4 Q. What is the cure rate for somebody
5 that has a primary lesion plus spread to the lymph nodes
6 and metastasis to another organ?

7 A. That is what is called a Duke's D
8 carcinoma, which is, basically, a death sentence. It is
9 somewhere between 5 and 10 percent five-year survival.
10 It's very low.

11 Q. And when we talk about metastasis,
12 what do we mean by that?

13 A. Well, tumors, as they grow wildly,
14 tend to shed cells, and those cells are picked up either
15 by the blood stream or by the lymph glands, the job of
16 which is to remove impurities from various organs.

17 The problem is, when that happens,
18 you now have cancer cells on the road, and they're
19 traveling, and they tend to travel to organs that have
20 very rich blood supplies.

21 In the case of colon cancer, it
22 tends to be the liver, and they set up and grow there
23 and form a whole new tumor.

24 Q. Based upon reasonable medical

1 certainty, what were the chances for cure for Edith
2 James in October of 1995, when a tumor was found in her
3 colon with 80 to 90 percent obstruction? She had cancer
4 in 10 out of 10 lymph nodes and metastasis to the liver.

5 A. She, basically, at that point, had
6 no prognosis. She, by the survival statistics, would be
7 dead. All patients in that group would be dead in five
8 years except 10 percent.

9 Given Mrs. James' age and overall
10 level of health, she would have died sooner, which,
11 indeed, was the case.

12 Q. Do you have an illustration that
13 shows where the liver is with respect to the large
14 colon?

15 A. This one would probably do it best.
16 This is a diagram of the colon which we used before to
17 show the polyps and the descending colon and
18 diverticula, and this is the transverse colon going
19 over, and this is the hepatic flexure and this large
20 brown thing up here, indeed, is the liver.

21 So, what you would typically see,
22 which I don't think is illustrated on this diagram, is
23 little lumps and masses on the colon, which would be the
24 metastatic tumor.

1 Q. How does a colon cancer spread or
2 metastasize to the liver?

3 A. By the lymph nodes and by the blood
4 stream.

5 Q. What other organs can be affected by
6 metastasis?

7 A. Basically, any organ, but in the
8 case of colon cancer, it tends to be the lymph nodes,
9 the liver, the lungs, the bones less frequently and the
10 brain less frequently.

11 Q. In October of 1995, did Edith James
12 have an advanced stage of cancer?

13 A. Yes.

14 Q. Could you describe for the jury how
15 the progression of the cancer would occur in her body?

16 A. Well, it would continue to grow
17 and -- and spread as it did to the liver. It went from
18 inside the lumen, through the wall of the colon, into
19 the lymph nodes.

20 The lymph nodes carry it to other
21 parts of her body. She died with tumor in her -- her
22 liver, which is as far as it probably got. But if -- if
23 she had survived longer, it would just have continued to
24 grow with the sites I just named, and it could have

1 spread elsewhere. Basically, it would have taken over
2 her body.

3 Q. What have you reviewed before
4 rendering your opinion in this case?

5 A. Nothing specifically for this case.
6 I mean, just basically, texts and books I've read over
7 the years.

8 Q. Did you review any medical records?

9 A. Oh, I'm sorry. I thought you were
10 talking about literature. I reviewed Mrs. James'
11 medical records from Dr. Lerner, her primary care
12 physician; Dr. Eisenberg, her colorectal surgeon, her
13 records from Mt. Sinai Hospital, including her radiation
14 therapy and chemotherapy records, her x-rays from 1995,
15 and various depositions.

16 Q. Did you review Dr. Mentz's records,
17 who is the oncologist?

18 A. Yes.

19 Q. What is an oncologist?

20 A. That's an internal medicine
21 specialist who specializes in cancer treatment.

22 Q. Did you review any depositions?

23 A. Yes.

24 Q. What depositions did you review?

1 A. Dr. Lavery's, Dr. Eisenberg's, my
2 own, Dr. Lerner's and --

3 Q. Did you review either Dr. McCarty's
4 or Dr. Lash's deposition?

5 A. I looked at -- I looked at
6 Dr. McCarty's somewhat briefly and Dr. Lash's very
7 briefly.

8 Q. Did you review any films?

9 A. Just -- just her barium enema x-ray
10 from '95.

11 Q. What medical facts are known to you
12 after reviewing these materials concerning Edith James?

13 A. Well, that she died of colon cancer.
14 In a cancer that arose in the sigmoid colon. And that
15 was -- that arose in the presence of a history of having
16 a previous significant polyp in the same area, i.e., the
17 left side of the colon, 10 years prior.

18 Q. According to Plaintiff's Exhibit 2,
19 what was the date of death for Edith James?

20 A. That's a death certificate, and her
21 death was 11/3/96.

22 Q. And what did she die from?

23 A. The cause of death is metastatic
24 colon cancer on the record here.

1 Q. When was the colon cancer first
2 diagnosed?

3 A. In 1995.

4 Q. In what month?

5 A. October. I'm sorry.

6 Q. I'd like to go back to 1985, which
7 is the date the original polyp was found.

8 Could you take a look at the barium
9 enema that was performed?

10 A. In 1985?

11 Q. Correct.

12 A. There is a report from June 4, 1985.
13 Sorry.

14 Q. What did the barium enema show?

15 A. It basically showed a 2-centimeter
16 polyp in the distal sigmoid colon.

17 Q. Did it show any other polyps?

18 A. There was a report of a small polyp
19 in the area of the splenic flexure.

20 Q. And what was done after the barium
21 enema was reported?

22 A. Colonoscopy.

23 Q. Who performed the colonoscopy.

24 A. Dr. Eisenberg.

1 Q. What did Dr. Eisenberg discover on
2 colonoscopy?

3 A. He found a 2.5 centimeter polyp in
4 the sigmoid colon.

5 Q. What was the location of that polyp,
6 how far from the anus?

7 A. Again, such measurements can be
8 relative between different procedures, but I believe it
9 was about 35 centimeters.

10 Q. Do you have his operative report?

11 A. It's here someplace. It's right
12 here. That's the one from '95, '96. Just found it.

13 6/20/85 is the report from Mt.
14 Sinai. And on that procedure it was listed 45 to 50
15 centimeters from the anal verge.

16 Q. Could you show us on the medical
17 illustration where that would be located?

18 A. About here, sort of in the middle of
19 the descending colon.

20 Q. Now, you said there was also a --
21 another polyp reported on barium enema?

22 A. Right.

23 Q. Where was the location of that
24 polyp?

1 A. Around the splenic flexure.

2 Q. And could you show us on the
3 anatomical drawing where the splenic flexure is?

4 A. Sure. It is right up here, this
5 little turn up there.

6 Q. Did Dr. Eisenberg in his colonoscopy
7 report make note of a polyp at the splenic flexure?

8 A. No.

9 Q. Did he make note of the absence of a
10 polyp at the splenic flexure?

11 A. No.

12 Q. Is there any discussion about the
13 barium enema in Dr. Eisenberg's colonoscopy report?

14 A. No.

15 Q. Did Dr. Eisenberg resect or cut out
16 that polyp?

17 A. The one in the splenic flexure or
18 the one in the sigmoid?

19 Q. The one in the sigmoid colon.

20 A. He did, yes.

21 Q. And was that sample sent to
22 pathology?

23 A. Yes.

24 Q. What did the pathology show?

1 A. It showed a adenoma with some degree
2 of -- it doesn't mention the degree of atypia here. It
3 just calls it a villous adenoma.

4 Q. Was that polyp cancerous?

5 A. No.

6 Q. Did Dr. Eisenberg perform
7 surveillance following that -- that polypectomy in 1985?

8 A. He did.

9 Q. How often did he perform
10 surveillance?

11 A. Well, the grand total was six times
12 between 1985 and the last procedure in '95.

13 Q. Did Dr. Eisenberg ever perform a
14 colonoscopy between 1985 and 1995 when the cancer was
15 discovered?

16 A. No.

17 Q. In the sigmoidoscopies that were
18 performed by Dr. Eisenberg between 1985 and 1995, did he
19 ever go to the level of the original polyp?

20 A. Well, again, distances can be
21 somewhat deceptive because the colon tends to move back
22 and forth, but the numbers would -- would suggest that
23 he did not go that high, to the 40 or 50 centimeters.
24 He was in the 20 to 30 centimeter range or 20 to high 30

1 centimeter range.

2 Q. During that 10-year period, how far
3 did Dr. Eisenberg go during these sigmoidoscopic
4 procedures?

5 A. Well, on -- on his report, he would
6 go from a low in the low 20s to a high in the high 30s.

7 Q. How often did he go up to the 30s?

8 A. Can't remember exactly. I think it
9 was two times, one or two times.

10 Q. Where was the cancer found
11 ultimately in October of 1995?

12 A. I believe it was found in about 35,
13 but I want to check that. Yeah. It was 30 to 35.

14 Q. And what is the date of that report?

15 A. 10/23/95.

16 Q. Did Dr. Eisenberg perform a
17 sigmoidoscopy in 1995?

18 A. He did.

19 Q. How far did he go on sigmoidoscopy?

20 A. I believe -- well --

21 Q. Why don't you look at his records,
22 Doctor?

23 A. This is Dr. Lerner's records.

24 On May 22nd, he went to

1 28 centimeters, and then he went on to the colonoscopy.

2 Q. And the cancer was ultimately found
3 where?

4 A. At 30 to 35 centimeters.

5 Q. In Dr. Eisenberg's sigmoidoscopy of
6 May 22, 1995, did he see a polyp or cancer?

7 A. No.

8 Q. Before May 22, 1995, when was the
9 most recent time that Dr. Eisenberg performed a
10 sigmoidoscopy?

11 A. I'm sorry. Again?

12 Q. Did he perform a sigmoidoscopy prior
13 to May 22, 1995?

14 A. Yes. And immediate to that
15 procedure, he had done it on April 25, 1994, and it was
16 done to 38 centimeters.

17 Q. What about in 1993? Did he perform
18 a sigmoidoscopy?

19 A. He did. On April 5, '93, and he
20 went to 28 centimeters; and February 1, '92, he went to
21 25; and in January 31, '91 to 22 centimeters;
22 February 6, 1990, to 22 centimeters.

23 And then we go back to 1985 with a
24 follow-up colonoscopy on December 5, '85, which was the

22 -- 25 centimeters. I'm sorry.

2 Q. At this point in time, I'm going to
3 ask you a number of opinions, Doctor. I'm going to ask
4 that you render those opinions based on medical
5 certainty and based upon your education and experience,

6 If you cannot render an opinion
7 based on medical certainty, will you tell me?

8 A. I will.

9 Q. Doctor, based on medical certainty,
10 do you have an opinion as to whether Dr. Eisenberg
11 deviated from the acceptable standard of medical
12 practice?

13 A. In my opinion, yes.

14 Q. Doctor, could you state all of your
15 opinions concerning the deviation or deviations from the
16 acceptable standard of care?

17 A. I alluded to these indirectly
18 earlier. One major concern I have is the failure, after
19 the initial colonoscopy and polypectomy in '85, for the
20 patient to be followed up with a colonoscopy on some
21 sort of reasonable basis.

22 Again, she didn't get one again
23 until '95. Secondly, was the failure to do due -- do
24 due diligence in terms of following up in 1987 when the

1 patient canceled her colonoscopy, at least, to make sure
2 the patient understood the implications of that
3 decision, and, three, as the patient became ill late in
4 her course in 1994 and 1995, to not perform colonoscopy
5 then.

6 MR. GOLWASSER: Just for the record, I'm
7 going to object to the last two items of the doctor's
8 opinions.

9 For the Court's reference, which
10 we'll deal with at trial, I refer to page 28 of the
11 doctor's discovery deposition.

12 You may continue.

13 Q. BY MR. RUF: Doctor, based -- based
14 upon reasonable medical certainty, do you have an
15 opinion as to whether Dr. Eisenberg's failure to go to
16 the level of the original polyp on sigmoidoscopy during
17 the 10-year period he followed her was below the
18 acceptable standard of care?

19 MR. GOLWASSER: Objection.

20 THE WITNESS: Yes. And -- and it goes
21 back to my first comment, which was the need for
22 colonoscopy. Because of the tendency of the colon to
23 move, it is hard to get precise meaning to the numbers
24 25 or 28 or whatever it is, and it's important to use a

1 colonoscope, which is able to get through the area on to
2 the end of the colon to the cecum.

3 Q. BY MR. RUF: Would you turn to
4 Dr. Eisenberg's note of April 25, 1994?

5 A. Got it.

6 Q. First of all, what are the signs or
7 symptoms of colon cancer?

8 A. Rectal bleeding, weight loss, change
9 in bowel habits, fatigue, occasionally pain in the --
10 the abdomen.

11 Q. Is general malaise a symptom of
12 colon cancer?

13 A. Yes.

14 Q. What does general malaise refer to?

15 A. Basically, feeling lousy, feeling
16 weak and under the weather and just feeling sickly.

17 Q. Did Edith James have any symptoms of
18 colon cancer on April 25, 1994?

19 A. She had pain in the left lower
20 quadrant, and that was the only thing that was
21 documented at that particular visit.

22 Q. Did she have any blood at that time?

23 A. Not that I can see from this
24 particular note. You just pointed to something, but

1 it's cut off here; so I really can't see it. I'd have
2 to look at my other records. It's cut off right there.

3 Q. Doctor, assuming that says,
4 "occasional pinkish smear"; is that a sign or symptom of
5 colon cancer?

6 A. That can be, yes.

7 Q. Doctor, assuming that it says,
8 "occasionally has several BMs," is that sign or symptom
9 of colon cancer?

10 A. Well, it's a change in bowel habits,
11 and that can occur in colon cancer, yes.

12 Q. Given that Edith James had those
13 symptoms on April 25, 1994, what did the standard of
14 care require Dr. Eisenberg to do?

15 A. Well --

16 MR. GOLWASSER: Objection.

17 THE WITNESS: -- basically, the
18 possibility of rectal bleeding was an indication for
19 colonoscopy itself.

20 The change in bowel habits is an
21 indication for colonoscopy in itself, and given her
22 history of colon cancer it just ups the ante, 'if you
23 will.

24 Q. BY MR. RUF: Doctor, could you take

1 a look at Dr. Eisenberg's note of May 22, 1995?

2 A. I have it.

3 Q. According to Dr. Eisenberg's record,
4 did Edith James have any signs or symptoms of colon
5 cancer at that time?

6 A. In that note, Dr. Eisenberg has
7 written "C.L." or complaint "of GM," which I take to
8 mean general malaise.

9 And then further down in the note,
10 it says, "occasional pinkish blood".

11 Q. Given that she had those signs and
12 symptoms on May 22, 1995, what did the standard of care
13 require of Dr. Eisenberg?

14 A. For the same reasons as my previous
15 answer, a colonoscopy.

16 MR. GOLWASSER? Objection.

17 Q. BY MR. RUF: did Dr. Eisenberg
18 perform a colonoscopy following the office visit of
19 April 25, 1994?

20 A. No.

21 Q. Did Dr. Eisenberg perform a
22 colonoscopy following the office visit of May 22, 1995?

23 A. No.

24 Q. Did Dr. Eisenberg perform a

1 colonoscopy between April 25, 1994 and October of 1995,
2 when the cancer was ultimately discovered.

3 A. He did not.

4 Q. Based upon medical certainty, do you
5 have an opinion as to whether the failure to perform a
6 colonoscopy between April 25, 1994 and October of 1995
7 was a deviation from acceptable medical practice?

8 A. I do. And I feel that way because,
9 one, we have symptoms here which are suggestive of a
10 possible colon cancer, and they certainly could have
11 been due to other things, but that needed to be
12 excluded, with colonoscopy being the test that could do
13 that.

14 And, secondly, it was a missed
15 opportunity to diagnose the cancer, which,
16 retrospectively, we have the advantage of knowing it was
17 approximately 18 months earlier.

18 Q. Doctor, what is a medical history?

19 A. It, basically, is the physician or
20 other caregiver taking the pertinent facts about a
21 patient's complaints, about their medication, their
22 lifestyle, et cetera, from the a patient him or herself.

23 Q. Whose obligation is it to see that
24 the proper information is it obtained by the doctor,

1 considered by the doctor and recorded in the medical
2 records?

3 A. It's -- it's mainly the physicians.

4 Q. Does the standard of care require a
5 physician to perform a complete medical history and
6 physical examination?

7 A. At least, a relevant history and
8 physical examination, yes.

9 Q. What is a physical examination?

10 A. It's, basically, laying on of the
11 hands to examine the body, looking for abnormalities.

12 Q. In taking a medical history of Edith
13 James, what information should have been obtained?

14 A. One, how she was doing, how does she
15 feel; two, did she have any specific complaints, such as
15 pain or bleeding or weight loss. Those would be
17 relevant things on the history.

18 On the physical exam, what we call
19 vital signs, her blood pressure, heart rate and weight
20 are standard for that.

21 And for a patient with colon cancer
22 being seen in follow-up by a physician, that would
23 involve examination of the head and neck looking for
24 swollen lymph nodes, which could suggest spreading

1 cancer, listening to heart and lungs and also
2 examination of the abdomen and the rectum.

3 Q. In taking a medical history for a
4 patient that is at risk for colon cancer, should a
5 doctor ask about family history of colon cancer?

6 A. Yes.

7 Q. All right. Did Edith James have a
8 family history of colon cancer?

9 A. She did. Her father died of colon
10 cancer.

11 Q. Is that reflected anywhere in
12 Dr. Eisenberg's records?

13 A. Not that I could see, no.

14 MR. GOLWASSER: Show a continuing
15 objection to all of this.

16 Q. BY MR. RUF: I want you to assume
17 that the family is going to testify that for a year
18 prior to October of 1995, Edith James experienced a
19 decrease in appetite and weight loss.

20 Is that information reflected in
21 Dr. Eisenberg's records?

22 A. No, it's not.

23 Q. I also want you to assume that in
24 Dr. Raisa Lerner's records, Edith James' rate -- weight

1 was recorded on October 26, 1994 as 115 pounds, and
2 that, on September 18, 1995, her weight was recorded as
3 105 pounds.

4 Is that information recorded
5 anywhere in Dr. Eisenberg's records?

6 A. No, it's not.

7 Q. Does Dr. Eisenberg have any notation
8 about weight loss in his records from April 25, 1994
9 until October of 1995 when the cancer was discovered?

10 A. Not that I could see.

11 Q. Does the standard of care require a
12 physician to document a plaintiff's [sic] symptoms who
13 is at -- if that patient is at risk for colon cancer?

14 A. Yes.

15 Q. Given that Edith James had a
16 2-centimeter polyp in 1985, was she at risk for colon
17 cancer?

18 A. She was at increased risk, yes.

19 Q. Does the standard of care require a
20 physician to question a patient concerning all symptoms
21 of colon cancer?

22 A. It does.

23 Q. Doctor, do you have an opinion based
24 upon medical certainty as to what was causing Edith

1 James' symptoms on April 25, 1994?

2 A. Well, retrospectively, it's quite
3 clear it was from her colon cancer.

4 Q. Doctor, do you have an opinion based
5 upon medical certainty as to what was causing Edith
6 James' symptoms on May 22, 1995?

7 A. Same, her colon cancer.

8 Q. Do you have an opinion based on
9 medical certainty as to whether diverticulosis was
10 causing Edith James' medical symptoms on April 25, 1994?

11 A. Diverticulosis is, basically, an
12 asymptomatic condition. It tends not to cause pain, and
13 it certainly doesn't cause weight loss, and it won't
14 cause bleeding in the majority of cases.

15 If diverticulum or these out
16 pouchings become inflamed, you can develop
17 diverticulitis, which can produce a left lower quadrant
18 pain, but it also produces fever and sweats and some
19 other very typical symptoms, which Ms. James did not
20 display.

21 Q. So based upon medical certainty, do
22 you have an opinion as to whether diverticulosis was
23 causing Edith James' medical symptoms on April 25, 1994?

24 A. In my opinion, no.

1 Q. Do you have an opinion based upon
2 medical certainty as to whether diverticulosis was
3 causing Edith James' medical symptoms on May 22, 1995?

4 A. In my opinion, no, again.

5 Q. Was there any medical symptom that
6 became more severe from April 25, 1994 to May 22, 1995?

7 A. Yes.

8 Q. What?

9 A. What are they?

10 Q. What symptom is that?

11 A. She continued to lose weight and
12 feel weak which progressed, and she continued to have
13 intermittent rectal bleeding.

14 Q. Do you have an opinion based upon
15 medical certainty as to the size of the cancer on
16 April 25, 1994?

17 A. On the size of the cancer?

18 Q. Yes.

19 A. Well, that's -- that's established
20 in the pathology report. It was a significant cancer,
21 which had occluded about 80 to 90 percent of the lumen
22 of the bowel.

23 Q. That was in October of 1995?

24 A. Right.

1 Q. I'm asking you, a year and a half
2 before the diagnosis on April 25, 1994, do you have an
3 opinion based upon medical certainty as to the size of
4 the cancer at that point?

5 A. It's difficult to say precisely
6 because tumors grow at different rates, but there are
7 boundaries with how fast or how slow a tumor will grow

8 And I can say, based upon my
9 knowledge of the literature, comfortably, that that
10 tumor was, at least, 1.5 to 2 centimeters -- well, even
11 to give more benefit of the doubt, between 1 and 2
12 centimeters in April and May of 1994.

13 Q. Based upon medical certainty, would
14 a 1- to 2-centimeter polyp be diagnosable by
15 colonoscopy?

16 A. It would.

17 Q. Do you have an opinion based on
18 medical certainty as to when the polyp or cancer was
19 first diagnosable?

20 A. Again, it's hard to say precisely,
21 but given the fact that polyps tend to form de novo over
22 about five years, I would estimate that it probably
23 initially could have been first detected in 1991 plus or
24 minus.

1 Q. How many sigmoidoscopies did
2 Dr. Eisenberg perform between 1991 and October of 1995?

3 A. I believe, three or four. Let me
4 calculate them.

5 Q. Would you go through his records and
6 count them, please, Doctor?

7 A. Sure. 1991, there was one; 1992,
8 there was one; 1993, there was one; '94, there was one;
9 and 19 -- May 22, '95, there was one. So there was
10 five.

11 Q. So Dr. Eisenberg had five
12 opportunities to diagnose this polyp or colon cancer?

13 A. He did.

14 Q. Did Dr. Eisenberg make the diagnosis
15 of a polyp or cancer in performing those five
16 sigmoidoscopies?

17 A. He did not.

18 Q. Based upon medical certainty, was
19 his failure to diagnose either the polyp or colon cancer
20 a deviation from acceptable medical practice or medical
21 negligence?

22 MR. GOLWASSER: Objection.

23 THE WITNESS: Given the fact that the
24 patient was symptomatic, given the fact the patient had

1 a history of a polyp, as we know, given the fact that,
2 indeed, she was being seen for surveillance by
3 Dr. Eisenberg, and he was performing surveillance, I
4 feel there was a deviation in that proper surveillance
5 during that period would have consisted of full
6 colonoscopy, at least, once and possibly twice for that
7 seven-year period.

8 Q. BY MR. RUF: Doctor, could you
9 explain how Dr. Eisenberg recorded in his note of April
10 25, 1994 that he went to 38 centimeters and did not see
11 a polyp or colon cancer, and the colon cancer was
12 ultimately discovered in October of '95 at 30 to 35
13 centimeters?

14 A. Well, Dr. Eisenberg's note of
15 April 25, '94 says, "flex sig to 38 centimeters, spasm
16 and diverticula in sigmoid colon, no polyps".

17 And something else I can't see -- it
18 says "normal". I can't read the other words, will
19 normal or something or wall normal. And his diagnosis
20 for diverticular disease.

21 Again, that's -- that's the problem
22 of doing sigmoidoscopy in a colon with diverticular
23 disease or diverticulosis, that the colon tends to act
24 like an accordion, and it can open and close.

1 So, if you have a short scope,
2 sometimes it can only go so far up. That is obviated by
3 the presence of a colonoscope, which because it's so
4 long can go through that area and visualize the entire
5 area.

6 Q. So what is your explanation for his
7 recording that he went to 38 centimeters a year and a
8 half before the colon cancer was discovered, and the
9 colon cancer was discovered in October of '95 at 30 to
10 35 centimeters?

11 A. The colon moves around. And 38
12 centimeters one day can be as much as 25 the next.

13 Q. Is it a possibility that
14 Dr. Eisenberg missed either a polyp or colon cancer that
15 was present on April 25, 1994?

16 A. Oh, I think -- based on what we know
17 about the tumor in '95, I think that's exactly what
18 happened.

19 MR. GOLWASSER: Reporter, did you get my
20 objection?

21 THE REPORTER: Just now? No, I didn't.

22 MR. RUF: I want you to assume that --

23 MR. GOLWASSER: Wait, wait, wait.

24 I objected to the question that was just answered. Did

you get that?

THE REPORTER: Yes.

MR RUF: Yes.

MR. GOLWASSER: Okay.

BY MR. RU Did Dr. Eisenberg
perform an uaiac st test or f l occult test from
1985 to 19

A. No.

Q. I want you to assume that Dr. Raisa
Lerner, the primary care physician, performed guaiac
stool test or fecal occult tests that were negative.

Does that mean that Edith James did
not have colon cancer?

A. No. Because tumors bleed
intermittently, and, again, as I previously testified,
there are difficulties with capturing a positive stool.

Q.
in September of 1995, If a fecal occult test was negative
that was a month before the colon
cancer was discovered, does that mean she did not have
colon cancer at that time?

A. Obviously not.

What is ur expla ion for wh
can have negative f l occult t and still
colon ca

1 A. Because tumors bleed intermittently,
2 *and that blood finds* its way intermittently to the -- to
3 the rectum.

4 MR. RUF: Gary, could we stop *one minute?*

6 *The tape is about to run out.*

7 MR. GOLWASSER: Sure.

8 THE VIDEOGRAPHER: This is the end of tape
9 number one in the continuing deposition of Dr. Kevin
10 Olden. Today's date is September 10, 1998, and the time
11 is now 9:21 p.m.

12 (Recessed from 9:21 p.m. until 9:25 p.m.)

13 THE VIDEOGRAPHER: This is the beginning
14 of tape number two in the continuing deposition of
15 Dr. Kevin Olden. Today's date is September 10, 1998;
16 and the time is now 9:25 p.m.

17 Q. BY MR. RUF: Dr. Olden, do you have
18 an opinion based upon medical certainty as to whether
19 Dr. Eisenberg's deviations from acceptable medical
20 practice or his medical negligence protection
21 proximately resulted in the death of Edith James?

22 A. Unfortunately, I think that is the
23 case. I think the failure to diagnose the tumor led to
24 its continued growth to the point where it became

1 Q. Do you have an opinion based on
2 medical certainty as to whether the pathology slides
3 that were taken at the time the tumor was resected or
4 clinic symptoms are better medical evidence to indicate
5 whether or not the cancer was present in May of 1995 and
6 April of 1994?

7 A. Pathology slides really tell you
8 nothing about how the patient was behaving with that
9 particular tumor. They have implications for diagnosis
10 and implications for treatment once the tumor is
11 discovered.

12 But, as I previously testified,
13 Mrs. James had a number of symptoms which were classic
14 for colon cancer, although, in fairness, could have
15 represented other conditions, but, given her unique
16 history, needed to be taken very seriously.

17 Q. Are the clinical symptoms that she
18 had in May of 1995 and April of 1994 the best evidence
19 we have as to when the colon cancer was present?

20 A. Clinically, yes.

21 Q. Do you have an opinion based on
22 medical certainty as to whether Edith James' colon
23 cancer took only three to four months to grow to block
24 80 to 90 percent of the lumen of the colon, was in 10

1 out of 10 lymph nodes and spread to the liver?

2 A. I've never seen that clinically, and
3 that's not my understanding of the literature, no.

4 Q. When we're talking about 80 to 90
5 percent occlusion of the lumen of the colon, what do we
6 mean?

7 A. Well, again, conceptualizing the
8 colon like a hose or a pipe, it's, basically, a tumor
9 growing around the circle of the inside of this hose,
10 and then it grows towards the center. So 90 percent of
11 the center is occluded by tumor from all sides.

12 Q. Can you see a submucosal tumor on
13 colonoscopy?

14 A. No.

15 Q. Do you have an opinion based on
16 medical certainty as to whether Edith James would have
17 survived had Dr. Eisenberg met the acceptable standard
18 of care?

19 A. Yes.

20 Q. What is your opinion?

21 A. Well, again, the literature is quite
22 clear that the earlier you detect a colon cancer, the
23 better the survival, and there were a number of lost
24 opportunities to pick this tumor up at an earlier stage,

1 which were not seized upon.

2 Q. Do you have an opinion based on
3 medical certainty as to whether Edith James would have
4 to have undergone radiation and chemotherapy if
5 Dr. Eisenberg met the acceptable standard of care?

6 A. Radiation, in particular, is
7 reserved only for end stage cancer, very advanced
8 cancers. Chemotherapy is reserved for intermediate and
9 late stage cancers. If the tumor had been picked up in
10 its earlier stages, there would have been no need for
11 them.

12 Q. So based upon medical certainty, do
13 you have an opinion as to whether or not Dr. Eisenberg's
14 negligence caused Edith James to undergo chemotherapy
15 and radiation?

16 A. In my opinion, yes.

17 Q. Have you had patients that have gone
18 through chemotherapy and radiology?

19 A. Yes.

20 Q. Are you aware of what the patient
21 goes through when they go through chemotherapy and
22 radiation?

23 A. I am.

24 Q. What is the quality of life for a

1 patient that goes through chemotherapy and radiation?

2 A. It -- it depends on the individual
3 patient. Some tolerate it better than others. But it
4 can be very uncomfortable, and a number of unpleasant
5 sequelae can come, particularly from the radiation, such
6 as inflammation of the colon and -- and burned skin, et
7 cetera.

8 Q. Doctor, could you give us your
9 professional opinion as to the quality of care given by
10 Dr. Eisenberg to Edith James?

11 A. In my opinion, it was not adequate
12 to meet Mrs. James' needs through the course of her
13 history of polyps and her subsequent cancer.

14 MR. RUF: Thank you, Doctor.

15 Mr. Golwasser?

16 MR. GOLWASSER: Are you finished with your
17 direct examination?

18 MR. RUF: Yes I am.

19 MR. GOLWASSER All right.

20

21 EXAMINATION

22 BY MR. GOLWASSER:

23 Q. Dr. Olden, I apologize to you and
24 most particularly to the jury who will hear this and

1 view this videotape for my absence there in Phoenix this
2 evening. My airplane was canceled upon my arrival at
3 the airport; so I was unable to join you this evening.

4 If you don't hear me through this
5 telephone communication, you'll let me know, will you,
6 please?

7 A. You bet. One, I hear you fine, and,
8 secondly, I too have had planes canceled; so I
9 sympathize. I completely understand.

10 Q. Doctor, in 1985, a polyp was
11 diagnosed by barium enema, correct?

12 A. That is correct.

13 Q. And Mrs. James was then referred to
14 Dr. Eisenberg, a board-certified colorectal surgeon, for
15 the purpose of undergoing a colonoscopy and polypectomy,
16 was she not?

17 A. That's correct.

18 Q. Now, you have testified previously
19 when I took your deposition under oath, that
20 Dr. Eisenberg should have done a colonoscopy within one
21 to three years upon removal of the polyp, the removal
22 being in 1985.

23 Remember testifying to that?

24 A. I do.

1 Q. Doctor, we know that if, in fact, a
2 colonoscopy had been done within one to three years, it
3 undoubtedly would have been a normal examination, would
4 it not?

5 A. In my opinion, yes.

6 Q. Now, some colon cancers, Doctor --
7 in some colon cancer, the growth begins below the
8 mucosa, does it not?

9 A. In certain situations it can, yes.

10 Q. And the mucosa is the -- as you've
11 explained earlier, is the -- the lining, the lining that
12 is within the lumen of the colon and is the -- the --
13 the wall of the inside of the colon, correct?

14 A. Correct.

15 Q. And if -- a colon cancer growth
16 begins submucosa or below the mucosa and does not become
17 perceptible to an examiner unless and/or until it breaks
18 through the mucosa or pushes the colon wall up isn't
19 that true?

20 A. That's correct.

21 Q. Now, the -- an endoscopic exam of
22 the colon, as you've explained, is by way of colonoscopy
23 or sigmoidoscopy, correct?

24 A. Correct.

1 Q. And it involves direct visualization
2 of the mucosa, correct?

3 A. Correct.

4 Q. And a lesion should be and/or can be
5 discerned if it is distinct, correct?

6 A. Correct.

7 Q. And a growth under the mucosa may
8 not be perceptible; isn't that true?

9 A. Correct.

10 Q. Now, in this case involving
11 Mrs. James, it's my understanding you are assuming that
12 her cancer began with a precancerous polyp growing
13 outside of the wall of the colon; isn't that true -- or
14 the mucosa is what I mean to say?

15 A. That's correct.

16 Q. Then we're into a situation in which
17 we saw in 1985 when the polyp was diagnosed as being
18 present and removed, correct?

19 A. Correct.

20 Q. Now, if, just hypothetically, that
21 this was one of those unusual cases where the cancerous
22 growth was not initially presented as a polyp but began
23 as a submucosal growth, then it is not necessarily
24 diagnosable by sigmoidoscopy or colonoscopy until, as

1 we've said, it broke through the wall or pushes up on
2 the wall; isn't that true?

3 A. If a lesion like that occurred, that
4 would be the case, yes.

5 Q. Now, we know that Mrs. James' cancer
6 was not in the same location in the colon as was the
7 polyp which was removed in 1985; isn't that true?

8 A. That's my assumption, yes.

9 Q. We know from the description that
10 the '85 polyp was removed at approximately 45 to 50
11 centimeters from the anal verge, and the cancer that was
12 ultimately diagnosed in 1995 was at 30 to 35
13 centimeters, correct?

14 A. Correct.

15 Q. You mentioned about -- something
16 about a polyp being observed on barium enema in '85 at
17 or near the splenic flexure.

18 Do you remember testifying to that?

19 A. I do.

20 Q. And, in fact, the cancer that was
21 diagnosed in Mrs. James was not at or near the splenic
22 flexure, was it?

23 A. That's correct.

24 Q. On April 1994, Dr. Eisenberg

examined the colon up to 38 centimeters, and you have
2 explained what you believe to be a possible explanation
3 as to why he perhaps did not, in fact, go up that high;
4 isn't that true?

5 A. Yes.

6 Q. But if he did go up the 38
7 centimeters from the anal verge, the cancer that was
8 ultimately diagnosed in October 1995 was not visible by
9 way of sigmoidoscopy; isn't that true?

10 A. if he had been at -- we assume that
11 38 centimeters was an accurate measure, that's correct,
12 yes.

13 Q. Now, if hypothetically, Mrs. James'
14 cancer began with submucosal changes, it would not
15 necessarily have been seen in April of 1994; isn't that
16 true?

17 A. Well, there is two issues. One, it
18 being submucosal, and, secondly, the time of the tumor.
19 I'm also assuming that, given the size of the tumor in
20 1995, i.e., almost completely occluding, that there
21 would have been tumor present in the lumen of the colon
22 in 1994

23 Q. Most colon cancer develops in the
24 rectosigmoid region of the colon; does it not?

1 A. Sixty percent, that's correct.

2 Q. And that turned out to be true for
3 Mrs. James; isn't that correct?

4 A. That's right.

5 Q. I mean, you have repeatedly, in
6 response to questions asked by plaintiff's counsel,
7 talked about the need for colonoscopy to examine the
8 entire colon, but, in fact, as it turned out for
9 Mrs. James, her cancer, in fact, was confined to the
10 region of the rectosigmoid colon, was it not?

11 A. Correct.

12 Q. And, as you've indicated,
13 Dr. Eisenberg, as often as six times during the course
14 of 10 years, examined the region of the rectosigmoid
15 colon, did he not?

16 A. Correct.

17 Q. A poorly differentiated
18 adenocarcinoma grows quickly, doesn't it?

19 A. They can grow in varying rates. I
20 mean, it's hard to say. I mean, what we do know is it
21 takes about five years to go from a de novo polyp to a
22 full-blown cancer. So I don't know if that is fast or
23 slow.

24 Q. Well, but I'm talking about once it

1 becomes a cancer, and once it becomes a poorly
2 differentiated adenocarcinoma it grows quickly, doesn't
3 it?

4 A. Right. The longer it's there, the
5 most rapidly it grows.

6 Q. Well, it may be present
7 microscopically or imperceptibly and thus not something
8 that would be observed by an examining physician; isn't
9 that true?

10 A. I'm sorry. Ask that again.

11 Q. Adenocarcinoma, which is poorly
12 differentiated may be present microscopically and/or
13 imperceptibly and thus not perceptible or noticeable to
14 the examining physician; isn't that true?

15 A. Well, actually, my opinion, no. A
16 poorly differentiated carcinoma is a carcinoma which is
17 quite advanced in terms of time, and that would be very
18 unlikely.

19 Q. Talking about the symptoms of colon
20 cancer, among those symptoms is bleeding, correct?

21 A. Correct.

22 Q. That could be occult or frank
23 bleeding, correct?

24 A. Correct.

1 Q. And if there is a -- there was a
2 large polyp which leads to cancer, you would expect to
3 have seen occult blood in the stool, would you not?

4 A. You wouldn't expect it. You could
5 find it, but it's not -- more times than not, it does
6 not bleed.

7 Q. Well, Doctor, when I took your
8 deposition in July of this year, on page 26, line 16, I
9 asked this question:

10 "Would you expect, Doctor, that if
11 there was a large polyp which would lead to cancer, that
12 you would expect to have seen some occult blood in the
13 stool?

14 "Answer: I would have. But, again,
15 remembering that large polyps in colon cancer bleed
16 intermittently."

17 Do you remember telling me that?

18 A. I do.

19 Q. Was your answer different today?

20 A. Well, actually, I think it's the
21 same answer. What I'm saying is large polyps, indeed,
22 can bleed, but more times than not they will not bleed.

23 Q. Then, when you told me in July that
24 you would have expected to see an occult blood, you

1 didn't mean that.

2 Is that what you're telling me?

3 A. I guess it's a semantic difference
4 of opinion.

5 Q. I see, Doctor.

6 Among the other symptoms of colon
7 cancer, there are changes in bowel habits, correct?

8 A. Correct.

9 Q. Among other symptoms of colon
10 cancer, there is weight loss, correct?

11 A. Right.

12 Q. Now, Doctor, do you accept the
13 proposition that different physicians can see the same
14 patient and not treat the patient the same, and none are
15 necessarily committing malpractice or negligence as long
16 as the care is acceptable?

17 A. I do.

18 Q. You appreciate the fact that
19 medicine is an art as well as a science?

20 A. I sure do.

21 Q. And you do agree that the role of
22 the well-trained and experienced physician is to make
23 judgment decisions in the care and treatment of his or
24 her patients?

1 A. Yes.

2 Q. You, apparently, have learned from
3 reading Dr. Eisenberg's testimony and reviewing his
4 records that he made a judgment decision that after
5 Ms. James, in 1987, declined a repeat colonoscopy -- or
6 canceled a repeat colonoscopy, I mean to say, that he
7 elected to follow her by examining the rectosigmoid
8 region of her colon, correct?

9 A. Correct.

10 Q. And you maintain, apparently, that
11 she was symptom free of colon cancer until April 1994.

12 Is that what you're contending?

13 A. In general, yes.

14 Q. Okay. Well, Doctor, in October of
15 1994, we know, from her primary care physician, that
16 there was no evidence of, at least, occult blood upon
17 guaiac stool testing, correct?

18 A. That's right.

19 Q. We also know that in October of
20 1994, which is, let's see, that's six months following
21 April '94, Mrs. James' weight, in fact, was 115 pounds,
22 which was more than she weighed nine years earlier.

23 Were you aware of that?

24 A. I was.

1 Q. Pardon me?

2 A. I was, yes.

3 Q. We also know, from talkirig to the
4 family and also from the records that we see, that she
5 was not complaining of any change in bowel habits as of
6 October 1994; isn't that true?

7 A. In October '94, that's correct.

8 Q. So when she sees Dr. Eisenberg some
9 six months later -- earlier in April, we know that she,
10 in fact, had not had any weight loss. In fact, she
11 undoubtedly weighed more than she did some years
12 earlier.

13 We know that the only complaint of
14 any blood, as Dr. Eisenberg testified to, was blood on
15 tissue in the presence of hemorrhoids.

16 MR. RUF: Objection. It's different than
17 his record.

18 Q. BY MR. GOLWASSER: We also know
19 that, in fact, the patient was not complaining of any
20 significant change in her bowel habits; isn't that true?

21 A. Well, actually, my reading of
22 Dr. Eisenberg's note of April 25, '94, he says in that
23 note, "Occasionally has several BMs". So I would -- I
24 would consider that to be a change in bowel habits as

1 noted by him.

2 Q. Is occasionally having several
3 BMs -- are you telling this jury that, in your judgment,
4 that is a clinical sign of colon cancer?

5 A. I'm -- I'm saying he reported a
6 change in bowel, her regular bowel habits --

7 Q. Are you saying that in the
8 constellation of symptoms here that you consider that to
9 be something that a physician should consider a clinical
10 sign of colon cancer?

11 A. Yes.

12 Q. Doctor, you said that you received
13 the testimony of Dr. McCarty, but you have not studied
14 it

15 Is that what you told us?

16 A. That's right.

17 Q. But you have previously acknowledged
18 to us that you are not expert in the subject of
19 pathology of colon cancer; isn't that true?

20 A. That's correct.

21 Q. Now, Dr. Olden, as a
22 gastroenterologist, I take it that you consider it your
23 duty and obligation to keep up with medical literature
24 as pertains to your specialty interests.

1 Do you do that?

2 A. As best I can.

3 Q. And I trust that you make it a point
4 to read articles in the major prominent medical journals
5 about the long-term risk of colorectal cancer after
6 excision of rectosigmoid adenomas, do you not?

7 A. I do.

8 Q. You said that you have not reviewed
9 any literature in particular as pertains to this case.

10 Did you not tell us that?

11 A. I did. That's correct.

12 Q. And, Doctor, in fact, Ms. James is a
13 woman who, in fact, had to be considered as to the
14 long-term risk of colorectal surgery [sic] after
15 excision of a rectal sigmoid adenoma, right?

16 A. Correct.

17 Q. That is just what she had in 1985?

18 A. Right.

19 Q. Doctor, isn't it true that a
20 definitive study, published in the New England Journal
21 of Medicine in 1992, reviewed the very subject of this
22 long-term risk of colorectal cancer?

23 MR. RUF: Objection; inadequate
24 foundation.

1 Q. BY MR. GOLWASSER: Is this not true,
2 Doctor?

3 A. It's one of a number of articles
4 that have addressed that, right.

5 Q. You consider the New England
6 Medical -- New England Journal of Medicine to be a
7 well-respected juried publication circulated among the
8 medical community?

9 A. It sure is.

10 Q. And, Doctor, do you recognize and
11 accept the conclusion of the literature that, in fact, a
12 patient who presents with one, large, villous adenoma is
13 at small risk for developing cancer?

14 MR. RUF: Objection.

15 THE WITNESS: If I remember the article
16 you're citing, which was published, I think it was
17 either '92 or '93, in the New England Journal, as best I
18 can remember that study, it was a study of rigid
19 proctosigmoidoscopy as opposed to flexible
20 sigmoidoscopy.

21 And my recollection of the article
22 was that in patients who had large adenomas of the
23 sigmoid, they were three times more likely or 3 point
24 something times more likely to have recurrent polyps or

1 synacrenous polyps than people with small adenomas.

2 This month in the Journal of
3 Gastroenterology, there is a similar study, a
4 multi-center study published on the same topic, which
5 came to similar but slightly different conclusions in
6 that, where the New England Journal study in '92 said
7 that those patients did not need colonoscopy because
8 most of the lesions, i.e., most of them being small,
9 were not associated with additional polyps, this
10 multi-center trial, which was published just this month,
11 comes to a different conclusion saying that those
12 patients do need colonoscopy.

13 The data, interestingly, was about
14 the same. Basically, small polyps, by and large, were
15 not associated with additional polyps or large polyp
16 were, but the study authors in both articles came to
17 different conclusions.

18 Q. BY MR. GOLWASSER: And
19 Dr. Eisenberg, of course, was treating this patient
20 before a month ago, was he not?

21 A. He certainly was.

22 Q. Doctor, Mrs. James, as you've
23 discussed, had a diverticular disease of the colon,
24 correct?

1 A. That's right.

2 Q. By the way, let me just digress for
3 one moment, Doctor.

4 You were asked questions about your
5 writings in the field of your specialty, but, in fact,
6 you have never written on the subject of surveillance
7 and endoscopic procedures following a polypectomy, have
8 you?

9 A. That's correct.

10 Q. All right. Now, turning to
11 Mrs. James diverticular disease, she was at increased
12 risk for bowel perforation during endoscopic procedure
13 because of that disease, was she not?

14 A. That's correct.

15 Q. Doctor, how is it that you happened
16 to meet the plaintiff's attorneys in this case, who are
17 located in Cleveland, and, at the time, I believe you
18 were in San Francisco?

19 A. They were referred to me by a
20 service, which is based in Fort Lauderdale, Florida.

21 Q. And this is a service run by a
22 nurse, who runs a brokerage for expert witnesses; isn't
23 that true?

24 A. That's correct.

1 Q. And this expert witness brokerage
2 service does not submit cases for you to review on
3 behalf of defendant physicians; isn't that true?

4 A. By and large, that is absolutely
5 true.

6 Q. So, Doctor, as we analyze this case,
7 here we have Dr. Eisenberg, who is surveying Mrs. James'
8 rectosigmoid colon over a period of 10 years, correct?

9 A. Correct.

10 Q. That a -- that the sigmoidoscopy
11 examinations -- I realize, in some instances, you may be
12 critical of them, but, nevertheless, in no instance did
13 they demonstrate disease other than diverticular
14 disease; isn't that true?

15 A. That's true.

16 Q. And while it is your opinion that
17 she became symptomatic in 1994, that is the first time
18 that the record documents such, correct?

19 A. Correct.

20 Q. And, according to Dr. Lerner, the
21 patient's primary care physician, that is as of October
22 1994, the patient was asymptomatic; isn't that true?

23 A. In her -- in her note of October
24 '94, that's correct.

1 MR. GOLWASSER: Dr. Olden, I thank you. I
2 have no further questions.

3 MR. RUF: Doctor, I just have a few
4 follow-up questions.

5 (Interruption of the proceedings.)

6 MR. RUF: Doctor, this is Mark Ruf again,
7 counsel for the plaintiff.

8

9 EXAMINATION

10 BY MR. RUF:

11 Q. Doctor, between the period of 1990
12 to 1995, were there studies published in respected
13 medical journals that showed if there was surveillance
14 colonoscopy every two to three years following
15 polypectomy that there was a reduced incidence of cancer
16 in most patients?

17 MR. GOLWASSER: Objection.

18 THE WITNESS: Yes.

19 Q. BY MR. RUF: Doctor, can a physician
20 be negligent in making poor judgments?

21 A. Yes.

22 Q. Doctor, in your opinion, was
23 Dr. Eisenberg negligent in that he made poor judgments?

24 A. In my opinion, yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MR. RUF: Thank you, Doctor.

MR. GOLWASSER: I have no further questions.

THE VIDEOGRAPHER: This concludes the videotaped deposition of Dr. Kevin Olden. Today's date is September 10, 1998, and the time is now 9:55 p.m.

MR. RUF: It's my understanding you're going to waive the filing requirement.

MR. GOLWASSER: Correct.

MR. RUF: Okay. Thank you, Gary.

Let's go off the record. Waive signature.

(The deposition was concluded at or about 9:57 p.m. in the evening.)


(Signature waived.)
KEVIN W. OLDEN, M.D.

1 STATE OF ARIZONA)
) SS.
2 COUNTY OF MARICOPA)

3 BE IT KNOWN that the foregoing videotaped
4 deposition was taken before me, CAROLE A. YELTON, a
5 Notary Public in the County of Maricopa, for the State
6 of Arizona; that the witness before testifying was duly
7 sworn by me to testify to the whole truth; that the
8 questions propounded to the witness and the answers of
9 the witness thereto were taken down by me in shorthand
10 and thereafter reduced to print under my direction; that
11 the foregoing pages are a true and correct transcript of
12 all proceedings had upon the taking of said deposition.

13 I FURTHER CERTIFY that I am in no way
14 related to any of the parties hereto nor am I in anywise
15 interested in the outcome hereof.

16 DATED at Scottsdale, Arizona, this 11th day
17 of September, 1998.

18 
19 _____
20 Carole A. Yelton
 Court Reporter

21 Notary Public, Maricopa County, Arizona
22 My commission expires July 20, 2000
23
24