

IN THE COURT OF COMMON PLEAS
OF CUYAHOGA COUNTY, OHIO

CHARLES TENNEY, III, etc.,
et al.,

Plaintiffs,

v.

Case No: 448548

URMILA PATEL, M.D., et al.,
Defendants.

TELEPHONIC DEPOSITION OF JAMES A. O'LEARY, M.D.

Upon oral examination taken by counsel for the
Defendants, on December 4, 2002, commencing at 5:05 p.m.,
at the offices of Gregory Court Reporting Service, 2650
Airport Road South, Suite A, Naples, Florida, before
Tracie L. Mountain, RPR, Notary Public, State of Florida
at Large.

CERTIFIED COPY

APPEARANCES

For the Plaintiffs: HOWARD D. MISHKIND, ESQUIRE
Becker & Mishkind CO LPA
1660 W. 2nd Street
Suite 600
Cleveland, OH 44113
(via telephone)

For the Defendant: DONALD H. SWITZER, ESQUIRE
(Southwest General Bonezzi, Switzer, Murphy &
Health Center) Polito CO LPA
1400 Leader Building
526 Superior Avenue
Cleveland, OH 44114
(via telephone)

For the Defendant: CAROL METZ, ESQUIRE
(Urmila Patel, M.D.) Weston, Hurd, Fallon, Paisley &
Howley
2500 Terminal Tower
50 Public Square
Cleveland, OH 44113
(via telephone)

* * * * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

| WITNESS | DIRECT | CROSS | REDIRECT | RECROSS |
|---------|--------|-------|----------|---------|
|---------|--------|-------|----------|---------|

JAMES A. O'LEARY, M.D.

| | | | | |
|------------------|---|----|----|----|
| By Mr. Switzer: | 5 | | 44 | |
| By Ms. Metz: | | 36 | | -- |
| By Mr. Mishkind: | | -- | | -- |

* * * * *

EXHIBITS

| DESCRIPTION | PAGE |
|-------------|------|
|-------------|------|

| | |
|----------------------------|---|
| Deposition Exhibit A | 5 |
|----------------------------|---|

(Curriculum Vitae)

| | |
|----------------------------|---|
| Deposition Exhibit B | 6 |
|----------------------------|---|


(Handwritten notes)

* * * * *

1 CERTIFICATE OF OATH

2
3 STATE OF FLORIDA)4
5 COUNTY OF COLLIER)6
7 I, Tracie L. Sitkins-Mountain, Notary Public
8 for the State of Florida;

9 DO HEREBY CERTIFY

10 JAMES A. O'LEARY, M.D., personally appeared before me and
11 was duly sworn by me to tell the truth.12 WITNESS MY HAND AND MY SEAL in the City of
13 Naples, County of Collier, State of Florida, this 4th day
14 of December, 2002.15
16
17 18 Tracie L. Mountain, RPR
19 Notary Public
20 State of Florida at Large
21
22
23
24
25

1 Thereupon,

2 JAMES A. O'LEARY, M.D.,

3 a Witness, called and duly sworn, was examined and
4 testified as follows:

5 DIRECT EXAMINATION

6 BY MR. SWITZER:

7 Q Hi, Doctor. This is Don Switzer. I'll be
8 asking you questions first. I know you've testified
9 before, but since this is by telephone, would you make
10 sure that I finish my question before you start answering
11 and vice versa or else we'll get cut off, okay?

12 A Thank you.

13 Q Would you state your full name, Doctor?

14 A James Arthur O'Leary.

15 Q One thing I don't have is a curriculum vitae
16 from you. Do you have an updated one that you could give
17 the court reporter?

18 A I do.

19 MR. SWITZER: Could you just mark that as
20 Exhibit A?

21 (Thereupon, Exhibit A was marked for
22 identification and the following proceedings were
23 had.)

24 BY MR. SWITZER:

25 Q And, Doctor, while we're marking, do you have

1 any notes?

2 A Yes.

3 MR. SWITZER: We'll mark that as Exhibit B.

4 (Thereupon, Exhibit B was marked for
5 identification and the following proceedings were
6 had.)

7 BY MR. SWITZER:

8 Q Doctor, Exhibit A is your CV. How current is
9 that?

10 A It's current.

11 Q Okay. I'll look at that when we get the
12 transcript. The notes that we've just marked, how many
13 pages are they?

14 A It's one page of handwritten notes. I would
15 say they're legible, divided into thirds, upper third,
16 middle third and lower third.

17 Q When did you prepare that?

18 A When I initially reviewed the records.

19 Q Which would have been when?

20 A Approximately June of 2001.

21 Q Okay. So the only notes you prepared in this
22 case then would have been prepared almost a year and a
23 half ago?

24 A Yes.

25 Q I do have a copy of your report or your letter

1 that you sent Mr. Mishkind setting forth your opinions.
2 Do you have that with you today?

3 A Yes, I do.

4 Q And that's dated July 5, 2002. I believe it's
5 three pages in length.

6 A Yes.

7 Q Is that the only report you've prepared setting
8 forth your opinions?

9 A Yes.

10 Q Let me -- before I go any further, what have
11 you reviewed besides the medical records?

12 A Besides the medical records I have reviewed the
13 depositions of Colleen Zelonis, Dawn Davis, Lisa Piscola,
14 Timothy McKnight, Dr. Patel, Charles Tenney, Jill
15 Castenir and I have received reports from Dr. George
16 Pettit, Linda DiPasquale, David Burkons, Martin Gimovsky
17 and Method Duchon.

18 Q How about any of the other plaintiffs' experts?

19 A Those are the only reports I have.

20 Q Do you know any of the other expert witnesses
21 in this case?

22 A No.

23 Q All right. Let me give you the names of the
24 other plaintiffs' experts, if I can find them. Dr. David
25 Simckes, S-I-M-C-K-E-S, do you know him?

1 A I don't know him.

2 Q David Zbarez, Z-B-A-R-E-Z, I think he's from
3 Chicago?

4 A No, I don't know him either.

5 Q A nurse by the name of JoAnn Zelton,
6 Z-E-L-T-O-N, I think she's also from the Chicago area?

7 A I don't know her either.

8 Q Do you consider yourself to be an expert on
9 shoulder dystocia and brachial plexus injuries?

10 A Yes.

11 Q What states are you licensed to practice
12 medicine in now?

13 A I have an active license in Florida, an
14 inactive in New York, New Jersey, Pennsylvania, Illinois
15 and Alabama.

16 Q Okay. Tell me about your current medical
17 practice.

18 A It is one to two days per month as a volunteer
19 gynecologist at a local Salvation Army clinic for women
20 that is sponsored by the Fort Myers public health
21 department.

22 Q I understand that you have written a number of
23 publications, either journal articles or textbooks on
24 shoulder dystocia and brachial plexus injuries.

25 A Yes, sir.

1 Q All right. Do you consider your publications
2 to be authoritative with respect to shoulder dystocia
3 management and brachial plexus injuries?

4 A I would say for the most part, yes.

5 Q What time was Dawn Davis ready for delivery in
6 this case?

7 A At 11:19.

8 Q Okay. Why do you say 11:19?

9 A Because at 11:20 the head came out.

10 Q Okay. And when was she prepared for delivery?

11 A 11:04.

12 Q What is your understanding of the time period
13 that Dr. Patel came into the delivery room and remained
14 throughout the delivery?

15 A She was initially present at 9:14 and I believe
16 she was present again at 11:00.

17 Q When did the fetal head crown?

18 A That time was 11:05. She was initially fully
19 dilated at either 9:57 or 10:02.

20 Q What's your understanding of when the head
21 crowned?

22 A Crowning is defined as the passage of the
23 biparietal diameter through the introitus and that would
24 have occurred at about 11:19.

25 Q Okay. What's the basis for that statement?

1 A Because the head was completely out at 11:20.
2 crowning is what occurs when half of the head is out.
3 Crowning is when the biparietal diameter, which is the
4 diameter from one ear to the other, is at the introitus.
5 At that point in time 50 percent of the head is out and
6 usually with the next contraction the other 50 percent
7 comes out.

8 Q Let me ask you some questions in general about
9 fundal pressure. Doctor, you authored a textbook on
10 shoulder dystocia?

11 A Yes.

12 Q And I think it's called Shoulder Dystocia and
13 Birth Injury Prevention and Treatment, I believe?

14 A Yes.

15 Q There is a statement at page 92 of that text
16 and I'll just read you the sentence here. "If the head
17 is not at station zero, fundal or suprapubic pressure
18 should be exerted in a downward direction in an attempt
19 to determine whether the head will enter the pelvic
20 inlet." What does that mean?

21 A That is a clinical test that has been described
22 by two obstructions, Dr. Mueller, M-U-L-L-E-R, and
23 Dr. Hillis, H-I-L-L-I-S, and it's a test to see if the
24 fundal pressure will allow the head to come through the
25 inlet down to zero station. And if it doesn't, it's a

1 sign of cephalopelvic disproportion.

2 Q How often, if you're going to do this test,
3 should fundal pressure be exerted for what period of
4 time? I'm sorry, that was a poorly phrased question.

5 A Approximately 10 to 15 seconds.

6 Q And is there any particular time when this is
7 to be done?

8 A It's preferable to do it at the pique of a
9 contraction.

10 Q Why is that?

11 A Because that allows the clinician to see what
12 is the affect of labor upon the descent of the head. It
13 can be done earlier in labor. It can be done between
14 contractions.

15 Q So this Mueller-Hillis maneuver that you just
16 discussed, the purpose of that maneuver is to see if the
17 use of fundal pressure will assist in the fetus
18 descending?

19 A Yes. It's a test of the capacity of the pelvic
20 inlet to accept and allow the head to come through.

21 Q There is another statement in your textbook
22 here I wanted to ask you about. That's at page two.
23 I'll read it to you. "Definitions of shoulder dystocia
24 vary among institutions. However, most investigators
25 agree that it has occurred when the standard delivery

1 procedures of gentle downward traction of the fetal head
2 and moderate fundal pressure fail to accomplish
3 delivery." Now, what does that -- what do those two
4 sentences mean?

5 A That either the mother may push or fundal
6 pressure be given as the head is delivering and when
7 gentle traction is then applied and additional pushing or
8 fundal pressure does not affect the delivery, like it
9 would in a normal case without a shoulder dystocia.

10 Q So what you're saying here -- and I'm using
11 your term, a standard delivery procedure would include
12 gentle downward traction of the fetal head and moderate
13 fundal pressure; is that correct?

14 A That is one such definition, yes.

15 Q And then, obviously, I'm assuming before
16 shoulder dystocia is discovered?

17 A Yes, when you're trying to deliver the baby
18 itself. When that doesn't work, then you say I have a
19 shoulder dystocia.

20 Q And what is the use of the modifier "moderate"
21 to define fundal pressure. You used "gentle" to describe
22 the traction. Can you define the difference between the
23 two. Do you understand what I was asking you?

24 A Yes, sir.

25 Q Okay.

1 A Moderate traction would be something that is
2 less than strong traction and greater than gentle
3 traction and gentle traction has been variously defined
4 as mild traction or the traction that you would use in a
5 normal delivery without a shoulder dystocia or it has
6 been quantitated to be about 5 to 10 pounds of force.

7 Q Okay. There is another statement I saw in one
8 of your articles. Let me just find it and I'll tell you
9 what the article was. It's called Brachial Plexus Palsy
10 Concepts of Causation. Do you remember that?

11 A Yes.

12 Q Let me read you the -- actually, it's a few
13 sentences, at page -- I believe it's also on your
14 website -- G36.

15 "There is one obstetric procedure called fundal
16 pressure which is not recommended clinically during
17 shoulder dystocia because of the risk of uterine rupture.
18 That is sometimes used to facilitate patients with their
19 second stage of labor. When medical personnel apply
20 fundal pressure, they exert a force behind the fetus and
21 advance it towards the birth canal. As long as there is
22 no obstruction, this procedure does facilitate motion of
23 the fetus through the uterus."

24 Could you explain what you mean by that?

25 A Yes. At the end of the second stage of labor

1 as the head is making its final movement of descent and
2 crowning is occurring, fundal pressure at that point will
3 not rupture the uterus or injure the mother and it will
4 assist in the final delivery of the head once it has
5 started to crown.

6 Q That paragraph, those sentences I just read to
7 you do not define when this is to be applied during the
8 second stage of labor. It just says, "It's used to
9 facilitate patients with their second stage of labor."
10 Why didn't you include that modifier in there as to when
11 it's supposed to be done during the second stage of
12 labor?

13 A I don't remember.

14 Q Also this paragraph says, "The force is exerted
15 behind the fetus and advances it towards the birth
16 canal". It doesn't reference only being done when the
17 head is crowning or about to crown. Why didn't you
18 include that modifier in there?

19 A I don't know.

20 Q Have you conducted any medical research for
21 your work in this case?

22 A No.

23 Q Let me ask you a few questions about your
24 opinions in this case and then maybe we'll back up a
25 minute. I do have your report and I assume you have a

1 copy of that.

2 A I think so, yes.

3 Q On page two of the report, the third full
4 paragraph.

5 A Yes.

6 Q There is a sentence there at the end. It says,
7 "The application of fundal pressure by the labor nurse
8 was inappropriate and contributed to the severity of the
9 shoulder dystocia." What fundal pressure are you talking
10 about?

11 A That particular sentence was the fundal
12 pressure after the head had been delivered.

13 Q And you received that information from review
14 of the deposition testimony of the parents?

15 A Yes.

16 Q You would agree there is nothing in the medical
17 records about any fundal pressure being applied after the
18 shoulder dystocia was discovered?

19 A Correct.

20 Q I understand it is your position because I've
21 seen some of your articles and literature that it is not
22 appropriate to use fundal pressure once the shoulder
23 dystocia is encountered except under certain
24 circumstances?

25 A Correct.

1 Q Those circumstances would be the -- you can
2 probably tell us. Is it the Hibbiard --

3 A Yes, H-I-B-B-I-A-R-D.

4 Q That's the Hibbiard maneuver, is that the right
5 term for it?

6 A Yes.

7 Q In that maneuver the use of shoulder dystocia
8 (sic) is permitted?

9 A The use of fundal pressure is permitted as part
10 of the Hibbiard maneuver.

11 Q The Hibbiard maneuver involves the use of
12 suprapubic pressure in addition to the use of fundal
13 pressure?

14 A Yes. More importantly, it consists of pushing
15 the head slightly back up in the birth canal to release
16 the stuck shoulder. Once the stuck shoulder is released,
17 then the suprapubic pressure followed by the fundal
18 pressure delivers the body.

19 Q I represent Southwest General Health Center,
20 who employees the nurses in this case. Do you have any
21 other opinions with respect to the deviation of the
22 standard of care of Southwest of the nurses other than
23 that statement in your report that I read?

24 A Yes, I do.

25 Q Okay. What is that?

1 A I believe that the mother was told to continue
2 to push in the face of the stuck shoulder and I believe
3 the nurses applied fundal pressure on two occasions prior
4 to the crowning of the head.

5 Q Where did you get the information that the
6 mother was told to continue to push after the shoulder
7 dystocia was encountered?

8 A I believe it's in her deposition in several
9 places and also in dad's deposition.

10 Q That's the parents' depositions?

11 A Yes, sir.

12 Q Then your other criticism was the use of the
13 fundal pressure, I believe it's at 11:08 and 11:13?

14 A Yes, sir.

15 Q Why didn't you include that in your report?

16 A I don't remember.

17 Q Well, based on your own medical literature that
18 you authored, the use of fundal pressure during the
19 second stage of labor is within the standard of care,
20 isn't it?

21 A If it's used at the proper time.

22 Q Well, you and I just went through your
23 literature, didn't we?

24 A Yes.

25 Q And taking that literature, your own statements

1 verbatim, the use of the fundal pressure in this case, as
2 reflected in the records, was appropriate?

3 MR. MISHKIND: Let me just show an objection.

4 This is Howard Mishkind. Go ahead, Doctor.

5 THE WITNESS: No, sir, that would not. All
6 that did was force the body down the birth canal
7 faster than it would have gone on its own and thus
8 not allowing the shoulders to rotate properly.

9 Fundal pressure at the right time is when the
10 head is crowning and as a result of the fundal
11 pressure given during a contraction with the head
12 crowning results in the delivery of the head.

13 BY MR. SWITZER:

14 Q Well, let's talk about the nurse's fundal
15 pressure here then. Can you tell me the force used by
16 this nurse when she exerted the fundal pressure twice?

17 A No.

18 Q Do you know at what angle she exerted the
19 fundal pressure?

20 A I assumed it was in the proper direction,
21 meaning from the top of the fundus down towards the birth
22 canal.

23 Q Do you know how far the fetus descended with
24 each use of the fundal pressure here?

25 A No.

1 Q Do you know whether this fundal pressure was
2 even effective in causing this fetus to ascend when it
3 was applied those two times?

4 A No.

5 Q Do you know the position of the fetus before
6 the fundal pressure was applied?

7 A The baby was described as being in the LOA
8 position.

9 Q Do you know the position of the fetus with
10 respect to the uterus in the birth canal before the
11 fundal pressure was applied?

12 A I don't understand your question, other than it
13 sounds like the same question again.

14 Q Okay. It probably was then.

15 Well, aren't there a lot of variables present
16 in this case with respect to determining whether or what
17 effect this fundal pressure applied by the nurse on these
18 two occasions had on the fetus?

19 A Yes, sir. I would have expected them to write
20 down the results of this fundal pressure.

21 Q Doctor, tell me what effect you believe in your
22 opinion the fundal pressure had?

23 A It was forcing the baby's body down towards the
24 birth canal at the time of a contraction.

25 Q Okay. But you don't know how far down, if at

1 all, the body of the fetus went, do you?

2 A It's not stated in the records.

3 Q How many more contractions did Dawn Davis have
4 before the delivery of the head?

5 A The mom was having contractions every two to
6 three minutes. So it would be two to three minutes from
7 11:13 to 11:20. So that would probably be at least three
8 contractions.

9 Q And how far does the fetus ascend with each
10 contraction?

11 A It can descend as little as zero to as much as
12 half a centimeter.

13 Q Let's assume that fundal pressure had not been
14 used on those two occasions. Would the head still have
15 been delivered at 11:20?

16 A Probably not.

17 Q Why do you say that?

18 A Because the fundal pressure assisted in the
19 descent of the baby through the birth canal.

20 Q Well, you don't know if it did or not. You
21 don't have any information that this fundal pressure
22 assisted in the descent, do you?

23 A There is nothing recorded in the record.

24 Q Are you aware of any articles or medical
25 literature that describes or discusses the risk to the

1 fetus or the mother of applying fundal pressure during
2 the second stage of labor but prior to the recognition of
3 shoulder dystocia?

4 A Do you want a specific reference on fundal
5 pressure?

6 Q Did you hear my full question? Maybe I should
7 repeat it.

8 A Please.

9 Q Are you aware of any articles, whether from
10 journals, textbooks, any medical literature that
11 describes or discusses the risk to the fetus or the
12 mother of applying fundal pressure during the second
13 stage of labor, but prior to recognition of the shoulder
14 dystocia?

15 A Yes, sir. There is a body of literature out
16 there.

17 Q All right.

18 A Specifically, the American College of OB/GYN
19 has a strong statement on the use of fundal pressure as
20 something that should not be done. Other than that, I
21 would have to do --

22 Q I want to know what the document is that you're
23 referring to?

24 A I believe it is a technical bulletin entitled,
25 Dystocia, D-Y-S-T-O-C-I-A, and also a technical bulletin

1 entitled, Operative Vaginal Delivery.

2 Q Well, I think I reviewed both of those
3 documents before, but those talk about fundal pressure
4 after shoulder dystocia, don't they?

5 A They talk about it after and I believe they
6 talked about it before, but I'm doing it from memory.

7 Q Okay. Well, we'll check out the articles. And
8 then what are the risks to the fetus or the mother then
9 that you believe are set forth in those articles?

10 A To the mother it would be rupture of the uterus
11 and hemorrhage. To the baby it would be asphyxia and
12 possible mechanical damage, such as a pneumothorax.

13 Q And how would a baby or a fetus get a
14 pneumothorax?

15 A By the sudden increase in intrauterine pressure
16 followed by the sudden release of intrauterine pressure
17 leading to sudden expansion of the chest wall.

18 Q Shoulder dystocia occurs after delivery of the
19 head and when the shoulders come into contact with the
20 pelvis?

21 A Yes.

22 Q So the use of fundal pressure before the head
23 is delivered then would be appropriate insofar as it
24 would not cause a shoulder dystocia, correct?

25 A It could contribute to it by forcing the body

1 down too fast before the shoulders have had sufficient
2 time to rotate through the inlet.

3 Q Okay. Well, you don't have any information
4 you've seen in this case that that occurred here, did
5 you?

6 A I can't say one way or the other.

7 Q By the way, I'd like to talk a minute about the
8 mechanical cause of shoulder dystocia, if we can. The
9 mechanisms by which shoulder dystocia occurs are when the
10 baby's shoulders are too large to enter the pelvic inlet?

11 A Too large or in the wrong position.

12 Q Which one of those occurred in this case?

13 A Probably both.

14 Q Which is the anterior shoulder in this case?

15 A The anterior shoulder here was the right
16 shoulder.

17 Q Did we have a bilateral shoulder dystocia?

18 A We do not have a bilateral injury, so I can't
19 say and the obstruction does not describe any evidence of
20 bilaterality. And since the only maneuver she used was
21 pulling on the head, it would be unlikely it was
22 bilateral, but it still could be.

23 Q Where did you get the information that the only
24 maneuver she, being Dr. Patel, used was pulling on the
25 head?

1 A I believe it's her testimony that the only
2 active maneuver that she herself performed was the
3 traction on the head.

4 Q Have you looked at her delivery note?

5 A Yes, sir.

6 Q Do you have the records there, Doctor?

7 A Yes, sir. The delivery note does not even
8 mention the McRoberts position and the delivery note
9 doesn't even mention suprapubic pressure, if it was
10 given.

11 Q Well, let's assume that Dr. Patel's delivery
12 note references that both knees and hips were acutely
13 flexed and suprapubic pressure was applied?

14 A That's not in the handwritten delivery note, if
15 that's what you're referring to. If you're talking about
16 a dictated labor and delivery summary --

17 Q That's what I'm talking about, Doctor.

18 A She does mention it there.

19 Q Okay. Well, isn't -- I mean, don't you think
20 she's describing the McRoberts maneuver?

21 A In the dictated note she does.

22 Q Okay. Now, let me come back to where I think I
23 was going with this. If you look at her dictated labor
24 and delivery summary, then at least according to that the
25 McRoberts maneuver and suprapubic pressure were used?

1 A Yes, sir.

2 Q What is your understanding of the time period
3 that passed from the time of the delivery of the head to
4 the delivery of the body?

5 A Four minutes.

6 Q Tell me what procedure -- let me back up.

7 There is no -- let me back up again.

8 The standard of care does not require the use
9 of any particular maneuvers or use of any particular
10 maneuvers in any order; is that correct?

11 A Yes.

12 Q And once a shoulder dystocia is encountered,
13 then it is permissible to apply gentle traction?

14 A Yes.

15 Q Do you know whether or not there was a turtle
16 sign here?

17 A It's not recorded in the records and it was not
18 mentioned in the depositions.

19 Q A four-minute time period to perform the
20 McRoberts maneuver and then use suprapubic pressure to
21 facilitate the delivery of this fetus in conjunction with
22 extending the episiotomy would be a reasonable time
23 period, wouldn't it?

24 A No, sir. That's an extremely long time period.
25 The vast majority of shoulder dystocias are resolved in

1 about 90 seconds.

2 Q Well, it may even take a minute to get the
3 mother in the proper McRoberts position, wouldn't it?

4 A That's exceptional, but it could be possible.
5 Normally, I would say about 15 seconds.

6 Q How long does it take to perform a rotational
7 maneuver?

8 A Usually within 30 seconds of applying the
9 maneuvers for rotation you will know whether or not it's
10 going to go. If it does start to move, it may take
11 another 30 seconds to complete the true Woods maneuver,
12 which is a 180-degree rotation.

13 Q Doctor, isn't the usual time from delivery of a
14 head in a nonshoulder dystocia case to delivery of a body
15 two to three minutes?

16 A Yes, sir. And that's because you're waiting
17 for the next contraction and you're doing other things.
18 Such as you aspirate the mucous from both nostrils, as
19 well as the baby's mouth and throat. You check for the
20 cord around the neck and if it's there, you clamp and cut
21 it. Basically, you do nothing between contractions.

22 Q Do you recall testifying in a case called
23 Rebecca Eickman (phonetic) versus Patricia Kodash
24 (phonetic).

25 A No, sir.

1 Q It was in the Illinois United States District
2 Court.

3 A No, sir, I don't remember it.

4 Q That was a shoulder dystocia case. I think the
5 plaintiff's lawyer was an individual by the name of
6 Brandt Kline. Does the name ring a bell, from Indiana?

7 A I recognize his name, but I don't remember the
8 case at all.

9 Q I'm getting back to the time period here. I
10 just want to ask you a question. Unfortunately, you and
11 I are not face-to-face, so I can't show you this. I'll
12 show it to you when you come to trial, but let me just
13 read it to you.

14 Question: How long does it take to perform
15 each of the maneuvers that we talked about earlier? And
16 I'll just use for an example the McRoberts, suprapubic
17 and a rotational maneuver such as the Woods screw?

18 Answer: A matter of perhaps three minutes,
19 four minutes, three to five minutes, depending on the
20 variables.

21 Q Now, I realize you haven't seen this, but there
22 were three maneuvers you were asked about in the time
23 period and you indicated in that deposition three to five
24 minutes would be an appropriate period of time. So why
25 are you limiting the time for Dr. Patel to just 90

1 seconds here?

2 A I wasn't just limiting it to that, but that was
3 what normal would be. What takes you out beyond three
4 minutes will be the difficulty in dealing with the
5 rotational maneuvers. Rotation to the right oblique or
6 left oblique or the classic Woods maneuver could take
7 another 90 seconds.

8 Q Would you agree that a severe shoulder dystocia
9 is one that takes more than four minutes to resolve?

10 A That's one criteria for evaluating shoulder
11 dystocias. Another one is simply the number of maneuvers
12 used and sometimes it's the physician recognizing
13 bilateral or a severe impaction. There is no one
14 classification that would represent the norm for
15 severity.

16 Q Is it possible for a fetus -- well, let me back
17 up.

18 Is it possible to have shoulder dystocia if
19 fundal pressure is applied as the head is crowning?

20 A No, sir. You would have to get the head out of
21 the vagina or at least almost completely out, 90 percent
22 or so.

23 Q The use of fundal pressure as the fetal head is
24 crowning in order to assist in the delivery of the head,
25 that cannot result in shoulder dystocia because the

1 shoulders have not come into contact with the pelvis yet?

2 A It would be because the head is almost
3 50 percent delivered and the amount of pressure would not
4 be great enough to force the body down a long distance
5 before the shoulder impacted.

6 Q Did Dawn Davis have any risk factors for
7 shoulder dystocia?

8 A She had an excessive weight gain and she was
9 slightly obese.

10 Q What is molding, M-O-L-D-I-N-G?

11 A Molding is the change in the shape of the
12 baby's head as a result of the baby's skull bones
13 changing their position.

14 Q And is that -- what does that indicate if that
15 occurs?

16 A Molding, if it occurs, can be normal, but if it
17 is severe, it would be a classic sign of cephalopelvic
18 disproportion.

19 Q The use of epidural anesthesia, will that
20 result in an increased incidence of shoulder dystocia?

21 A No.

22 Q Well, you said that in your textbook. Do you
23 disagree with that now?

24 A Only if there is abnormal descent. I'm
25 assuming a patient who is a normal patient without

1 macrosomia, that an epidural should not have an effect on
2 shoulder dystocia.

3 Q Do you agree that the optimal method for
4 treating shoulder dystocia once it occurs remains
5 debatable?

6 A Yes, sir. It still is debatable, but the
7 consensus is now to start with the McRoberts position and
8 then suprapubic pressure and gentle traction.

9 Q I know this statement is in your textbook. Do
10 you still agree there are no experts in the prevention
11 and treatment of shoulder dystocia?

12 A Only expert witnesses. That was be a attempt
13 at humor at one of my grand rounds lectures.

14 Q Do you believe Dr. Patel was present when the
15 nurses applied this fundal pressure?

16 A It appears from the record as if she were.

17 Q If you assume that Dr. Patel asked the nurse to
18 apply the fundal pressure, is it your position that the
19 nurse should have refused to do that?

20 A Yes.

21 Q Why?

22 A Because it's something that is associated with
23 increased harm and risk if it's done at the wrong time.

24 Q And you believe it was done at the wrong time.
25 Again, I'm talking about before the shoulder dystocia?

1 A Yes, sir.

2 Q Okay. If Dr. Patel read your textbook on
3 shoulder dystocia, she would not realize from reading
4 your textbook that it would have been done at the wrong
5 time, would she?

6 MR. MISHKIND: Objection. Go ahead, Doctor.

7 THE WITNESS: The reason I didn't specify the
8 time is it's such an elemental piece of information
9 that you learn at the beginning of your residency
10 training program. It's an obvious thing to
11 obstructions.

12 BY MR. SWITZER:

13 Q Well, that may be your opinion, but I think
14 there are going to be people disagreeing with you. By
15 the way this textbook you wrote, Shoulder Dystocia and
16 Birth Injury Prevention and Treatment, it was your
17 intention with that textbook to provide information that
18 was generally in accordance with the standard of care?

19 A Yes, sir.

20 Q That's why you believe that to be an
21 authoritative textbook?

22 A In parts, yes.

23 MR. MISHKIND: John, let me object. I believe
24 you're getting argumentative, but go ahead, Doctor.

25 MR. SWITZER: Actually, I wasn't. That's a

1 pretty soft way to get argumentative.

2 MR. MISHKIND: You're a soft-spoken guy.

3 BY MR. SWITZER:

4 Q Doctor, when is the last time you delivered a
5 baby?

6 A 1996.

7 Q Do you have admitting privileges at any
8 hospital?

9 A No, sir. I've been semi-retired approximately
10 three years.

11 Q What percentage of your professional time do
12 you spend in the active clinical practice of gynecology?

13 A The one or two days per month that I would see
14 patients at the Salvation Army.

15 Q Can you give me a percentage of that?

16 A I would estimate on a weekly basis I spend
17 approximately eight to ten hours, either on reviewing
18 medical literature, journals and articles that I'd be
19 working on. And of that eight to ten hours, I would
20 estimate at least four hours per clinic session.

21 Q Is more than 90 percent of your income from
22 your medical/legal work?

23 A Yes.

24 Q During the period starting in the late 1980s
25 until 1996 did you average maybe one or two deliveries a

1 year?

2 A Those were just private cases. I did many more
3 deliveries in the teaching of interns and residents.

4 Q Well, those were weren't hands-on deliveries by
5 you, were they?

6 A Very frequently they were. I was physically
7 present and scrubbed with gloves on and gown on.

8 Q The last time you had a shoulder dystocia
9 delivery was in 1987?

10 A '87 or '88, I'm not sure which.

11 Q Are most cases of brachial plexus injury
12 transient or temporary?

13 A Yes, 90 percent.

14 Q Doctor, how would Nurse Zelonis know that the
15 request to apply the fundal pressure by Dr. Patel was
16 inappropriate?

17 MS. METZ: Objection.

18 MR. MISHKIND: Let me show an objection as
19 well. Go ahead, Doctor, you can answer.

20 THE WITNESS: Because the head was not crowning
21 at that time and the fundal pressure was being used
22 to force the baby's body down through the bony
23 pelvis without a good reason, such as fetal
24 distress.

25 BY MR. SWITZER:

1 Q Let's assume the head was crowning during that
2 time.

3 A If the head were crowning at 11:08 and fundal
4 pressure was given, then the baby's head would have been
5 delivered within the next minute.

6 Q Well, you're assuming that the fundal pressure
7 would have been effective?

8 A Fundal pressure for assisting in the delivery
9 of the head is only given at the point where the head is
10 crowning so that it's almost always effective. Sometimes
11 you do need a second contraction.

12 Q Are you ruling out the scenario that the head
13 was crowning here and the request was made for the nurse
14 to apply fundal pressure twice and the belief that that
15 would result in a delivery of the head and it just didn't
16 happen?

17 A I don't know.

18 Q Okay. I take it that it's your opinion in this
19 case that there was excessive traction applied at some
20 point?

21 A Excessive traction, excessive lateral downward
22 tilting of the head and turning and twisting of the head.

23 Q And you're basing that on the parents'
24 description in their deposition testimony?

25 A Yes, as well as the facial bruising would be

1 consistent with that also and the scalp edema was
2 described as severe.

3 Q The amount of traction to apply, is that a
4 subjective test to some extent?

5 A It is semi quantitative. Gentle traction is
6 best described as either mild traction or the degree of
7 traction that you normally apply in the delivery of a
8 child without a shoulder dystocia.

9 Q Do you agree that shoulder dystocia is
10 generally unpredictable?

11 A Yes.

12 Q I think this baby weighed approximately
13 4500 grams.

14 A Yes, sir.

15 Q Do you consider that to be macrosomic?

16 A Yes, sir.

17 Q Okay. Is macrosomia generally unpredictable?

18 A Yes.

19 Q Do you believe in this case that the shoulder
20 dystocia or the macrosomia should have been predicted?

21 A No.

22 Q If Dr. Patel knew that she was dealing with a
23 4500-gram fetus in this case going into labor, would it
24 have been appropriate to have her try a trial of labor?

25 A Yes, if she did clinical pelvimetry prior to

1 the starting of the Pitocin.

2 Q Do you know if that was done or not?

3 A No, sir, it was not done. She was not there.

4 Q Okay. What is the incidence of shoulder
5 dystocia in a 4500-gram baby or fetus, I guess?

6 A Approximately 9 percent.

7 Q What is the incidence of shoulder dystocia in a
8 3500-gram fetus?

9 A About one in 300.

10 Q That's what, .03 percent, maybe?

11 A Yes, sir.

12 Q So it's about, what, more than 20 times more
13 likely to occur?

14 A Yes, sir.

15 Q Was this fetus dysmorphic?

16 A No, sir.

17 Q I'm almost done, Doctor. I'll let Carol ask
18 you questions about Dr. Patel.

19 CROSS-EXAMINATION

20 BY MS. METZ:

21 Q Hi, Doctor. My name is Carol Metz and I
22 represent Dr. Patel in this matter. I want to return to
23 your opinion dated July 5th, 2002.

24 A Yes.

25 Q It is my understanding from the third full

1 paragraph on page two that you ruled out any intrapartum
2 causes of the brachial plexus injury?

3 A Yes.

4 Q Could you please tell me what causes you ruled
5 out and how you ruled those out as possibilities?

6 A The ultrasound examination that was done at
7 approximately 22 weeks excluded uterine defects or
8 anomalies, uterine tumors, malpositions, fetal evidence
9 of tumors or cysts in the neck.

10 Q Are these the only causes of
11 intrapartum -- excuse me, are these the only intrapartum
12 causes of brachial plexus injuries?

13 A Yes.

14 Q You indicated that the injury occurred in the
15 course of delivery because of inappropriate and excessive
16 forceful maneuvers. Could you please tell me what
17 inappropriate maneuvers you felt were taken by Dr. Patel?

18 A Excessive traction, excessive lateral tilting
19 or traction on the head, turning and twisting of the
20 head, having the mother continue to push and fundal
21 pressure applied.

22 Q And all of these are based on the deposition
23 testimony of the parents?

24 A And the extensive facial bruising, scalp
25 bruising and the neurological injuries itself.

1 Q Could there be another cause of the bruising
2 and neurological injuries?

3 MR. MISHKIND: Objection. Go ahead, Doctor.

4 THE WITNESS: No.

5 BY MS. METZ:

6 Q You indicated the mechanism of the injury was
7 the stretching of the neck. What evidence do you have
8 that Dr. Patel stretched the neck during the delivery?

9 A The extensive permanent neurological injury
10 that Charles suffered, the bruising of the scalp and
11 bruising of the face.

12 Q How does bruising of the scalp and bruising of
13 the face indicate that there was a stretching of
14 Charles's neck?

15 A That represents the fingerprints or points of
16 force at which the tips of the fingers are dug into the
17 baby's soft tissues.

18 Q You indicated that proper adherence to the
19 standard of care would have prevented the permanent
20 brachial plexus injury. If I'm not mistaken the
21 maneuvers and the mechanisms used by Dr. Patel, as
22 outlined in her report, you felt were appropriate, being
23 McRoberts, the subpubic pressure and gentle traction?

24 A Those are the appropriate maneuvers.

25 Q So using those maneuvers were not below the

1 standard of care, correct?

2 A If they were properly carried out. The
3 McRoberts maneuver is simply placing the mother in a
4 different position. It's called the McRoberts position.
5 That in and of itself does nothing to release the stuck
6 shoulder. The combination of the suprapubic pressure, if
7 properly given, when combined with gentle traction will
8 resolve approximately 90 percent of these cases.

9 Q Is it possible to have permanent brachial
10 plexus injury without a tugging of the neck?

11 A Only from a prelabor factors.

12 Q And are those the factors we've previously
13 discussed?

14 A Yes. You can add into that list on a very,
15 very rare basis viral infections that get into the
16 nervous system and congenital absence of nerves and
17 muscles in the child's neck, but all of these would be
18 evident at the time the baby was examined in the nursery.

19 Q If I understand correctly, you said 90 percent
20 of your income comes from your legal/medical work?

21 A Yes.

22 Q Approximately how many cases do you review a
23 year over the last three years?

24 A It's down to about one or two per month in the
25 past year.

1 Q Of the cases you've reviewed over the last
2 three years, what percentage of those have been for
3 plaintiffs and what percentage have been for the defense?

4 A I currently have about 70 active cases, of
5 which seven or eight are defense cases.

6 Q So the remaining 62 to 63 are plaintiff's
7 cases?

8 A Yes, ma'am.

9 Q Have you ever testified in the state of Ohio,
10 either in a deposition or in trial?

11 A Yes.

12 Q When was the last time you testified in the
13 state of Ohio?

14 A I believe approximately two years ago. A case
15 of -- I don't remember the case now, but it was Mr. Peter
16 Weinberger, I believe.

17 Q Have you ever worked for Mr. Mishkind or his
18 firm previously?

19 A Perhaps one case, I'm just not sure.

20 Q Approximately how long did you spend reviewing
21 the records before today's deposition, in total before
22 your July 5, 2002 report?

23 MR. MISHKIND: Are you talking about all the
24 way from the start?

25 MS. METZ: That's correct.

1 MR. MISHKIND: Okay.

2 THE WITNESS: Perhaps six to eight hours.

3 BY MS. METZ:

4 Q I know on your July 5th, 2002 report you
5 reserved the right to change your opinion based on new
6 information or evidence that's provided after the writing
7 of this report. I notice some of the depositions you
8 reviewed occurred after the writing of this report. Has
9 anything you've reviewed after this time changed your
10 opinions? I hope you can understand that because it was
11 poorly worded.

12 A I do understand it and I have no new opinions
13 other than what I've already expressed here today.

14 Q Outside of the use of what you feel is
15 excessive force and stretching of Charlie's neck, do you
16 have any other criticisms of Dr. Patel?

17 A The use of fundal pressure, having the mother
18 continue to push while the shoulder was stuck and not
19 attempting other maneuvers.

20 Q The use of fundal pressure, I assume you mean
21 after the head was delivered?

22 A Yes.

23 Q And that was based on the parents' testimony?

24 A Yes.

25 Q What other maneuvers did you feel needed to be

1 attempted by Dr. Patel?

2 A After approximately a minute or two, the next
3 step would have been to turn the shoulders 45 degrees
4 either to the right or left using one hand. If that
5 doesn't work, you could then use two hands, one on the
6 posterior shoulder and one on the anterior shoulder and
7 do the corkscrew maneuver. If that didn't work, then you
8 further enlarge the episiotomy and deliver the posterior
9 arm.

10 Q Do you have any further opinions regarding the
11 care of Dr. Patel?

12 A No.

13 Q Is it my understanding you have no criticism of
14 the prenatal care provided by Dr. Patel?

15 A Correct.

16 Q Is it possible to have brachial plexus injury
17 such as this from a shoulder dystocia alone?

18 A No.

19 Q What do you charge an hour for reviewing
20 records?

21 A \$250.

22 Q And what do you charge for testimony?

23 A Trial testimony I charge \$350 an hour, plus any
24 travel expenses that I incur.

25 Q How long have you been involved in

1 legal/medical work?

2 A Thirty-one years.

3 Q Do you have an estimate of how many cases
4 you've reviewed in those 31 years?

5 A It would be several hundred, I'm sure.

6 Q And how many of those deal with shoulder
7 dystocia?

8 A Since 1985, the number has progressively
9 increased to the present where approximately 30 or
10 40 percent of my cases are shoulder dystocia cases.

11 Q And how many of those involve brachial plexus
12 injuries?

13 A I believe they all did.

14 Q All 30 to 40 percent?

15 A Yes.

16 Q Of your current caseload, which you said was
17 approximately 70 active cases, approximately how many of
18 those involved shoulder dystocia and brachial plexus
19 injury?

20 A 30 to 40 percent.

21 Q I don't believe I have any further questions.

22 A Thank you.

23 REDIRECT EXAMINATION

24 BY MR. SWITZER:

25 Q Doctor, just a few more. Have you reviewed any

1 prior cases for Mr. Mishkind or any of his associates or
2 partners?

3 A I may have reviewed one. I just don't recall
4 for sure.

5 Q Do you advertise at all?

6 A No.

7 Q I wrote down something. I just wanted to make
8 sure that I didn't hear you incorrectly. Did you say
9 that the McRoberts maneuver needs to be used in
10 conjunction with suprapubic pressure?

11 A It doesn't have to be. You can use the
12 McRoberts position and gentle traction. Most people do
13 both together because it's more effective.

14 Q Okay. Have we covered all of your opinions,
15 either in your report or your testimony today with
16 respect to the deviations from the standard of care of
17 the defendants?

18 A Yes.

19 Q Do you believe you've adequately explained the
20 grounds for your opinion and again I'm incorporating your
21 report?

22 A Yes.

23 Q Did we pay you in advance or are you going to
24 send Howard a bill? How do we handle this?

25 A I will send an invoice to Mr. Mishkind.

1 Q Make sure you have your tax ID number on that
2 and are you going to leave your CV and notes with the
3 court reporter?

4 A I already have.

5 Q Thank you very much.

6 MR. SWITZER: Tracie, would you go ahead and
7 send me a copy of the transcript as soon as
8 possible?

9 THE REPORTER: Okay. You want it expedited?

10 MR. SWITZER: Yes. This is Don Switzer. You
11 can call my secretary, Karen. You probably have our
12 number. We're the ones that hired you. I'm the one
13 who hired you.

14 MR. MISHKIND: I'd like a copy.

15 Doctor, did you have any problems hearing the
16 questions from Mr. Switzer or Ms. Metz?

17 THE WITNESS: No, I don't think so.

18 MR. MISHKIND: Ms. Reporter, how about you?
19 Did you have any difficulty at all with the
20 telephone?

21 THE REPORTER: No.

22 MR. MISHKIND: Doctor, if you want to read the
23 deposition transcript, that will be fine with me.
24 If you prefer to waive the reading of the
25 deposition, that will be fine with me.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE WITNESS: I will waive.

(Deposition concluded at 6:15 p.m.)

C-E-R-T-I-F-I-C-A-T-E


STATE OF FLORIDA)

COUNTY OF COLLIER)

I, Tracie L. Sitkins-Mountain, Registered Professional Reporter and Notary Public in and for the State of Florida do hereby certify that I was authorized to and did stenographically report the foregoing deposition, that the transcript is a true record of the testimony given by said deponent and all proceedings had at the session at which said deposition was taken; and that the reading and signing was waived and notice of filing be waived.

I further certify that I am not a relative, employee, attorney or counsel of any of the parties, nor am I a relative or employee of any of the parties' attorney or counsel connected with the action, nor am I financially interested in the action.

Dated this 5th day of December, 2002.



Tracie L. Sitkins-Mountain, RPR

Notary Public

State of Florida at Large