

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS

FRANCES SMITH,
ADMINISTRATRIX, etc.,

Plaintiff,

vs.

ST. LUKE'S HOSPITAL, et al.,

Defendants.

Doc

Case No: 100877

- - -

Deposition of ALAN MARK OLIVER, M.D.,
taken by the Plaintiff as if upon
cross-examination before Aneta I. Fine, a
Registered Professional Reporter and Notary Public
within and for the State of Ohio, at the offices
of Jacobson, Maynard, Tuschman & Kalur Co.,
L.P.A., Fourteenth Floor, 100 Erieview Plaza,
Cleveland, Ohio, on Thursday, the 21st day of May,
1987, commencing at 10:00 a.m., pursuant to notice.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

APPEARANCES:

Charles Kampinski Go., L.P.A., by:
Charles Kampinski, Esq. and
Christopher M. Mellino, Esq.

On behalf of the Plaintiff.

Jacobson, Maynard, Tuschman & Kalur Co.,
L.P.A., by:
Stephen J. Charms, Esq.,

On behalf of Alan Mark Oliver, M.D.

Arter & Kadden, by:
Michael C. Zellers, Esq. and
Jim Grove, Esq.,

On behalf of the Defendants, St. Luke's
Hospital, Fedeshen and Edmonson.

Reminger & Reminger, by:
Marc W. Groedel, Esq.,

On behalf of the Defendants, Timothy
Stephens, M.D. and Curtis Smith, M.D.

ALSO PRESENT:

Christine J. Orlinski for Steven W. Albert,
Esq., Kitchen, Messner & Deery on behalf of
Nurse Sims.

- - -

STIPULATIONS

It is stipulated by and between counsel.
for the respective parties that this deposition
may be taken in stenatypy by Aneta I, Fine; that
her stenatype notes may be subsequently
transcribed in the absence of the witness; and
that all requirements of the Ohio Rules of Civil
Procedure with regard to notice of time and place
of taking this deposition are waived,

- - -

1 ALAN MARK OLIVER, M.D.,
2 called. by the Plaintiff for the purpose of
3 cross-examination as provided by the Ohio Rules of
4 Civil Procedure, being by m? first duly sworn, as
5 hereinafter certified, deposes and says as
6 follows:

7 CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please?

10 A. Alan Mark Oliver.

11 Q. Spell Alan.

12 A, A-l-a-n.

13 Q. Would you state your address, please?

14 A. 25000 South Woodland, Beachwood.

15 Q. Is that an apartment?

16 A. It's a home.

17 Q. Okay. Where's that?

18 A. Beachwood.

19 Q. Doctor, I'm going to ask you a number of
20 questions this morning. Some of the other
21 attorneys may ask you some questions also, If you
22 don't understand any questions, pleas? tell me,
23 I'll be happy to rephrase them,

24 A. All right,

25 Q. When I ask you a question I'll ask that

1 you respond verbally to the question, She can't
2 take down a nod of your head, Okay?

3 A. I understand,

4 Q. How long have you lived at the South
5 Woodland address, Doctor?

6 A, Three years,

7 Q. Where did you Live before that?

8 A, Van Aken in a rented condominium.

9 Q. Okay. How long there?

10 A. Two years,

11 Q. And before that?

12 A, Lexington, Kentucky .

13 Q. Attending medical school?

14 A, No. Residency training.

15 Q. How long were you there?

16 A. Two years,

17 Q. And before that?

18 A. Back in Cleveland I was an intern at
19 Richmond Heights.

20 Q. Okay,

21 A. That was a hospital.

22 Q. How long were you in Cleveland?

23 A. One years.

24 Q. Okay. Before that?

25 A, Kansas City, Missouri .

1 Q. That was medical school?

2 A. Yes ,

3 Q. Four years?

4 A. Four years, yes.

5 Q. Before that?

6 A. Kansas City. I'm from there.

7 Q. Okay. You went to undergraduate school
8 then?

9 A. Yes. University of Missouri at Kansas
10 City.

11 O. And you were born and raised in Kansas?

12 A. Missouri, Kansas City, Missouri.

13 Q. I'm sorry.

14 A. There's a Kansas City, Kansas across the
15 state line.

16 Q. I understand. How old are you, Doctor?

17 A. 35.

18 Q. Date of birth?

19 A. February 28, 1952.

20 Q. Okay. If you would fill in some years
21 for me. When did you graduate from high school,
22 sir?

23 A. 1970.

24 Q. Okay. That was in Kansas City?

25 A. Yes.

1 Q. All right, And where did you go to
2 undergraduate school, University of Missouri?

3 A. At Kansas City, yes.

4 Q. 1970 till when?

5 a. '73. I finished in three years.

6 Q. Going summers?

7 A. Yes.

8 Q. Okay. Three years chronologically but I
9 take it you took the right number of hours?

10 A. Yes, that's correct.

11 Q. Where did you go to medical school?

12 A. **Kansas** City College of osteopathic
13 Medicine.

14 Q. When did you attend that?

15 A. 1975 through '79.

16 Q. What kind of degree have you received
17 from medical school?

18 A. D.O., Doctor of Osteopathy.

19 Q. And how is that different from M.D.? I
20 mean is there a different philosophy?

21 A. The philosophy is different.

22 Q. What is the philosophy of osteopathy?

23 A. The philosophy includes manipulative
24 therapy, evaluation of the patient as a whole
25 rather than individual systems or organs or

1 individual. disease states. We feel that diseases
2 can affect the whole body, not just one particular
3 system or organ.

4 Q. Does that preclude you from treating any
5 particular organ if it is, in fact, diseased?

6 A. Not at all. Not at all. Our training
7 is the same as M.D. except we are additionally
8 trained in manipulative therapy.

9 Q. And once again, manipulative meaning the
10 entire body or --

11 A. Manipulative regarding the
12 musculoskeletal system.

13 Q. Well, how would you treat a heart attack,
14 Doctor?

15 A. How would I treat a heart attack?

16 Q. Sure,

17 A. Once I have diagnosed it?

18 Q. Yes.

19 A. Admit the patient to the coronary care
20 unit, Put the patient on monitoring, check his
21 cardiac enzymes, check his EKG, chest x-ray.

22 Q. Okay.

23 A. And I personally would call in a
24 cardiologist as a consultant.

25 Q. Okay. I take it that's because that's

1 not your specialty?

2 A, That's correct. That's correct.

3 Q. Where did you do your residency or your
4 internship?

5 A. At Richmond Heights General Hospital,
6 Richmond Heights, Ohio,

7 Q. That would have been 1979, '80?

8 A, Yes .

9 Q. Okay. And then your residency where?

10 A. University of Kentucky Medical Center

11 Q. What years was that?

12 A, '80 to '82.

13 Q. Okay, Did you specialize at all during
14 your residency?

15 A. Emergency medicine.

16 Q. Are you Board-certified?

17 A. Yes, I am.

18 Q. In emergency medicine?

19 A. Yes, I am.

20 Q. When were you Board-certified?

21 A. April '85.

22 Q. Both oral and written?

23 A. Yes.

24 Q. Was that the first time you were
25 eligible to take the Boards?

1 A. No. I was eligible as soon as I
2 finished my residency.

3 Q. Okay. Did you take them before April of '85?

4 A. No, I did not.

5 Q. So you passed your first --

6 A. Oh, yes, the first time I took them.

7 Q. After your residency what did you do at
8 the University of Kentucky?

9 A. I left and went to the Cleveland Clinic
10 Foundation,

11 Q. Why?

12 A. **For** a one year fellowship in critical
13 care.

14 Q. What year was that?

15 A. '82, '83.

16 Q. And what did you do then?

17 A. I accepted at the present position of
18 director of the surgical intensive care unit at
19 St. Luke's Hospital.

20 Q. I'm sorry. Director?

21 A. Of the surgical intensive care unit,

22 Q. When was that, sir?

23 A. July '83.

24 Q. **And** that's still your present position?

25 A. That's correct,

1 Q. Is that position as an employee of the
2 hospital?

3 A. It's an administrative position, I'm in
4 private practice,

5 Q. I see.

6 A. But it's --

7 Q. What do you do as director of surgical
8 intensive care?

9 a, The administrative functions or the
10 clinical functions?

11 Q. Well, let's deal with each of them.

12 A. The administrative fuctions, I manage
13 the unit, help develop the policies and procedures,
14 protocols. I'm involved in nursing as well as
15 resident education,

16 Q. All right, Let's go slow through that.

17 A. Okay,

18 Q. In terms of the practices, the
19 procedures --

20 A. Yes.

21 Q. -- are you the one that establishes them?

22 A. Yes.

23 Q. All right.

24 A. With consultation with my nursing staff.

25 Q. Okay,

1 A. And other attendings, time to time,

2 Q. Have you changed the protocol since you
3 have been there?

4 A. Some of them, yes, As an example or --

5 Q. Oh, sure,

6 A. A pulmonary catheter also known as a
7 Swan, S-w-a-n, Ganz, G-a-n-z, catheter. Prior to
8 my arrival residents were not gowning, gloving,
9 putting the hats and mask on to make it as sterile
10 a technique as they should have,

11 Q. Okay.

12 A. Plus we developed a cart to hold all the
13 equipment to make it extremely convenient,

14 Q. What other things have you changed?

15 A. Nursing education for one, We're giving
16 more inservices. We have developed a videotape
17 type program where we videotape lectures and
18 developing an archival system.

19 Q. Did you do any changing with respect to
20 the duties of nurses within the surgical intensive
21 care unit?

22 A. In consultation with the directors of
23 the nursing department, the nursing administration.
24 For example, we now have a clinical instructor
25 which we did not have to help our preceptors teach

1 new recruits in a more organized fashion, and we
2 have also just developed a clinical nurse
3 specialist position to oversee all of the clinical.
4 instructors for all of the critical care units of
5 the hospital, not just surgical ICU.

6 Q. How about a recovery room? Have you
7 dealt with any protocols in terms of changing?

8 A. No. That's not my --

9 Q. Let me finish my question,

10 MR. CHARMS: Let Mr. Kampinski
11 finish his question, then you can answer.

12 A. I'm sorry, Excuse me.

13 Q. Have you changed any of the protocols
14 with respect to any of the nurses having duties
15 within the recovery room?

16 A. No.

17 Q. Have you dealt in your tenure since July
18 of '83 at St. Luke's in any facet of the recovery
19 room?

20 A. No.

21 Q. Okay, You also have clinical
22 responsibilities?

23 A. Yes.

24 Q. What are those?

25 AS AS far as patient care?

1 MR. ZELLERS: Objection. And my
2 only objection is so he clarifies what he does as
3 director of the surgical intensive care unit and
4 as a private physician. He can answer any way he
5 wants to answer, I'm just objecting.

6 MR. KAMPINSKI: You are not trying
7 to help him at all?

8 MR. ZELLERS: Not at all.

9 MR. KAMPINSKI: Okay, good.

10 Q. Now that that's clear, why don't you
11 tell me what your clinical duties are,

12 A. Direct patient care. I'm the
13 responsible physician for all surgical patients
14 admitted to the surgical intensive care unit as a
15 consultant,

16 Q. All right, The patients I take it have
17 no choice in who they are going to get as a doctor
18 when they come into the SICU? I mean you are
19 there to assist them?

20 MR. ZELLERS: Objection.

21 Q. On behalf of the hospital, correct?

22 MR. ZELLERS: Doctor, I objected,
23 You go ahead and answer the question unless
24 Mr. Charms instructs you not to answer the
25 question.

1 A. Would you restate it, please?

2 Q. I'll try to. I think you answered that
3 the patients that come to SICU do not have a
4 choice in who it is they have as a physician,
5 correct?

6 A. I see what you're saying. That's not
7 entirely true. If the attending surgeon did not
8 want to consult me he would not have to.

9 Q. I see,

10 A, But as a rule I am consulted.

11 Q. Has that ever happened?

12 A, That I have not been -- yes, that has
13 happened ,

24 Q. Ever happened by Dr. Smith?

15 A, Not that I can recall,

16 Q. But once again, the patient coming to
17 SIGU, he doesn't have the choice I take it that
18 you are aware?

19 MR. ZELLERS: Objection.

20 MR. CHARMS: If you're aware.

21 A. He doesn't have a choice. That's a
22 difficult question to answer.

23 Q. And I'll rephrase it. Maybe it's not a
24 fair one. It's asking you to get inside the
25 patient's head, I'll withdraw it.

1 A, And they may not be aware. They may be
2 on a respirator.

3 Q. Which means they may not have a choice?

4 A. That's right, they **may** not have a choice.

5 Q. Do you have regular hours in the SICU
6 unit in terms of your clinical work?

7 A. Not really.

8 Q. How is it determined when you are there
9 and --

10 A. To rephrase in a routine fashion, I make
11 rounds at 8:30 in the morning with the residents.
12 We usually look at x-rays first. We have an x-ray
13 view box area in the intensive care unit. After
14 we finish viewing x-rays, we make detailed bedside
15 rounds and then the residents and myself go on
16 about getting some of the daily functions done.
17 In the afternoon I usually --

18 Q. Wait a minute. ~ a iflnætions in terms
19 of?

20 A, Patients that need to be --

21 Q. Patient care?

22 A. Patient care. Patients that need to be
23 transferred, orders that need to be written,
24 changes that need to be made in therapy or
25 diagnostic modalities.

1 Q. Okay, You are talking now in terms of
2 what you're doing I take it in a teaching setting?

3 A. Teaching and clinical,

4 Q. Both?

5 A. It's both. It's simultaneous. You
6 really can't separate it.

7 Q. What does your contract with the
8 hospital call for in terms of your duties?

9 A. I don't have a contract per se, a
10 written contract, Is that what you're asking?

11 Q. Yes,

12 a, We don't have a written contract,

13 Q. You don't have a written contract with
14 the hospital?

15 A. I have a letter of understanding when I
16 first started.

17 Q. Did that delineate your duties?

18 A. Yes.

19 Q. Or what you would be doing?

20 A. Yes, it did.

21 Q. Were part of those duties to provide
22 clinical care to patients in the SIGU?

23 A. Yes.

24 Q. All right, And these are not private
25 patients of yours, I take it these are staff

1 patients or patients of some other staff physician?

2 A. Private physician, that's correct. I do
3 not have my own private patients. I do not have
4 an office practice,

5 Q. All right, And is your office within
6 the hospital?

7 A. Yes. It's around the corner Erom the
8 intensive care unit.

9 Q. What is the address of the hospital?

10 A. 11311 Shaker Boulevard.

11 Q. And that's your office address?

12 A. Yes, that's correct.

13 Q. And they provide secretarial service for
14 you?

15 a. That's correct.

16 Q. And you are paid how, Doctor?

17 A. I'm paid a stipend from the hospital for
18 my administrative and educational role, but the
19 bulk of my income is fee for service, private
20 billing of the patients.

21 Q. And that's handled by whom?

22 A. The billing?

23 Q. Yes.

24 A. I have an outside billing service that
25 does it.

1 Q. Qkay. And do you have a separate
2 corporation that you bill through?

3 A. Yes, I do.

4 Q. What is the name of the corporation?

5 A. Critical Care Associates, Inc.

6 Q. And who is the shareholder of that
7 corporation?

8 A. Myself and my wife.

9 Q. Who makes the decision as to whether a
10 patient goes to the surgical intensive care unit?

11 A. It could be any number of people, For
12 example, I might get a call, either I might get a
13 call, the resident in the unit might get a call or
14 our nurse in charge might get a call from the
15 operating room. That call could be from the
16 charge nurse in the operating room, a circulating
17 nurse in the operating room, a surgeon from the
18 operating room, the resident who assisted on the
19 surgery in the operating room or someone from
20 anesthesia saying we'd like to bring this patient
23 over for observation or for whatever reason.

22 Q. Okay, Do you know of a different
23 decision-making process between sending a patient
24 to surgical intensive care unit versus recovery?

25 A. I do not.

1 MR. CHARMS: Objection.

2 Q. All right, You are not aware of any
3 protocols within the hospital dealing with that
4 decision-making process?

5 A. I am **not** aware. I am not aware,

6 Q. Is there a hierarchy, and that may not
7 be the proper word, but let me use it in the
8 context of the question, of care provided to a
9 patient in SICU versus recovery room?

10 A. Restate that, please.

11 Q. Yes. Are there different services
12 provided to a patient in SICU than in the recovery
13 room?

14 A. Different services?

15 Q. Monitoring, for example?

16 A. Monitoring?

17 MR. ZELLERS: Objection. If you
18 know.

19 Q. And let me even add, I'm talking about
20 November of 1984 now.

21 A. Okay. Not that I'm aware of that there
22 are differences,

23 Q. Were there EKG machines in the SICU?

24 A. Are there?

25 Q. In November of '84?

1 A. Yes, there are.

2 Q. How about in the recovery room?

3 A, I wouldn't know.

4 Q. How far is the recovery room from the
5 SICU?

6 A. It's right next door.

7 Q. But you don't know if there were or
8 weren't?

9 A, At that date I don't remember.

10 Q. What kind of machines did you have for
11 monitoring heart activity in November of 1984 in
12 SICU?

13 A. In the surgical SICU?

14 Q. Yes.

15 A, We had bedside monitors at each bedside.

16 Q. Do they provide continuous readout?

17 A. That's correct.

18 Q. And does that become a continuous part
19 or part of the patient's record?

20 A, No.

21 Q. Why not?

22 A. It's **not** computerized for that purpose,

23 Q. Well, how about physically taking it out
24 of the machine and --

25 A. Oh, yes, we do that.

1 Q. So it does become part of the patient's
2 chart?

3 A. In that regard, yes, but there are some
4 systems that are computerized enough that
5 everything is recorded permanently, I thought
6 that was what you were getting at.

7 Q. But **you** don't know if that capability
8 existed in the recovery room in November of 1984?

9 A. I know that it exists now and I would
10 assume it existed then.

11 Q. Okay.

12 A. I know that they have monitors.

13 Q. Do you know what kind?

14 A. Currently?

35 Q. No. November of '84.

16 A. I believe it was Honeywell. E for M.

17 Q. What kind did you have in SICU?

18 A. I believe we still had an older -- we
19 just changed it a couple years ago, Abbott is
20 what we had. We have a different product now.

21 Q. They both provide continuous readout
22 then?

23 A. That's correct.

24 Q. When a decision is made that a patient
25 is to be admitted to the surgical intensive care

1 unit following surgery, **how** is it determined who
2 will care for him or who will undertake primary
3 care for him or her in the surgical intensive care
4 unit?

5 A. As I stated earlier, I am the
6 responsible physician although surgeons do have
7 the capability of not consulting.

8 Q. All right. Let's assume that that's not
9 the case and let's assume that you then have
10 responsibility for care of that patient.

11 A. I am responsible for the care of that
12 patient in the surgical intensive care unit,

13 Q. What if you are not physically there?

14 A. The residents are there 24 hours a day,
15 surgical residents.

16 Q. All right, How about if you're there?

17 A. Both --

18 Q. Do you take primary care then and give
19 orders to the residents in terms of what you want
20 them to do?

21 A. Both. Both of those things happen.
22 Either he will take care or I'll take care or I'll
23 instruct him.

24 Q. How many beds were there in the surgical
25 intensive care unit in November of 1984?

1 A. Eight.

2 Q. On November 14, 1984 do you know how
3 many were occupied?

4 A. I do not,

5 Q. Do you have records khat would reflect
6 how many were occupied?

7 A. Yes. We would have a log **book**,

8 Q. Okay. And that is maintained where?

9 A. In the surgical intensive care unit.

10 Q. Okay, And that would reflect what, how
11 **many** patients were in the SICU on any particular
12 day?

13 A. That's correct.

14 Q. All right. You haven't checked that
15 though for purposes of determining how many were
16 there November 14, 1984?

17 A. I have **not**.

18 Q. Do you recall that **date** in terms of
19 anything that occurred?

20 A. **No**, I do not.

21 Q. Have you reviewed the records pertaining
22 to Mr. Smith?

23 A. I have briefly reviewed the chart, yes,

24 Q. Wow many times?

25 **a.** Once ,

1 Q. When?

2 A. Shortly before talking to Mr. Charms.

3 Q. Today?

4 A. Well, today but -- no. When I talked to
5 him a few **weeks** ago, a couple weeks ago.

6 Q. Has he apprised you that his firm is
7 also representing Dr. Smith?

8 A. No.

9 MR. GRQEDDEL: You mean Dr. Lee?

10 Q. I'm sorry. Dr. Lee?

11 A. Yes.

12 Q. And that they have filed a crossclaim
13 against the hospital?

14 A. They meaning who?

15 Q. Dr. Lee?

16 A. Yes.

17 Q. When did you first see Mr. Smith?

18 A. November 14, 1984.

19 Q. What time?

20 A. That, I do not remember.

21 Q- You can **look** at the chart **if** it will
22 assist you at all, Doctor.

23 MR. CHARMS: Can you help, Chuck?

24 Do you have --

25 MR. KAMPINSKI: Yes, But **my** pages

1 are not numbered the same so I really can't tell
2 to be honest with you.

3 A. It looks like it was two in the
4 afternoon.

5 Q. You got to tell me what you are looking
6 at, Doctor,

7 A. I'm looking at the surgical intensive
8 care flow sheet for November 14.

9 MR. CHARMS: Our page number 79.

10 MR. KAMPINSKI: Yes, but: that's not
11 going to help me I don't think.

12 Q. I will tell you what, why don't you, if
13 you don't mind, let me look over your shoulder
14 here.

15 A. This is November 14.

16 a. Okay. And your page 79?

17 A. Right.

18 Q. All right.

19 A. Two or maybe 1:30. The photocopy is not
20 exact. Between 1:30 and 2 in the afternoon.

21 Q. How can you tell that by looking at this,
22 and where does it tell you that that's when he
23 came in?

24 A. Because numbers would have been -- when
25 nurses admit patients to the surgical intensive

1 care unit they mark the time by noting the initial
2 vital signs,

3 Q. And it's got what?

4 A. A temperature, it's good blood pressure,
5 heart rate, I.V. fluids, some other I.V. fluids
6 here, some initial assessment values, **looks** like
7 blood gas, some laboratory data.

8 a. What were the blood gases?

9 A. Initially, pH, 7.38, PCO2, 39, PO2, 71.

10 Q. Okay, Did you see him by the way when
11 he first came in?

12 A. I don't recall.

13 Q. All right, Can you tell from this chart
14 when you saw him or not?

15 A. Occasionally the nurses will write in
16 the note, in their notes whether I was present or
17 **not** and I'd have to look. Dr. Oliver was present.

18 Q. Okay, So you would have been in charge
19 then?

20 A. Yes, that's correct.

21 Q. All right.

22 A. Here the strip **shows** 1 p.m. so that
23 looks like the time of admission.

24 Q. All right, Is there anything unusual in
25 this strip, Doctor?

1 A. Not this particular strip.

2 Q. All right. Any other strips?

3 A. Not that one. Nor that one. There's
4 some ST segment depression and T wave inversion,

5 Q. What does that mean?

6 A. It could mean nothing.

7 Q. What could it mean?

8 A. It could mean strain from an enlarged
9 ventricle, it could mean ischemia.

10 Q. What is ischemia, Doctor?

11 A. Poor flow to parts of the heart.

12 Q. Okay.

13 A. Then we're going to the next day.

14 **a.** Okay, Go ahead. Go through the entire
15 strips if you have any more.

16 A. Strips?

17 Q. Sure.

18 A. No changes from the previous one we just
19 saw. No change. All of these have been normal
20 rhythm, normal sinus rhythm, no arrhythmias.

21 Normal. Normal. It **looks** like that's the end of
22 the --

23 Q. You referred, if you would find your
24 note, Doctor, you referred to the extension of
25 bigeminy.

1 A. In my personal note?

2 Q. Yes.

3 A. Yes. I found the note,

4 Q. Okay. Was that a finding that you made?

5 A. Yes.

6 Q. Okay. When did you make it?

7 A. I don't remember, I don't remember.

8 Q. All right. Is there anything in the
9 records that would reflect how you made it or when
10 you made it?

11 A. There should be a twelve lead EKG
12 somewhere in the chart. It could be this one.

13 a. Well, is it? Take a look.

14 A. It looks like it would be the one I
15 would have looked at,

16 Q. Where do you see bigeminy?

17 MR. ZELLERS: What page are you
18 referring to, Doctor?

19 MR. KAMPINSKI: 1.36.

20 A. It may not -- I don't see atrial
21 bigeminy here. I see premature atrial
22 constrictions.

23 Q. Why did you write bigeminy then?

24 MR. CHARMS: Wait a minute,
25 Doctor. Then take the time and go through the

1 whole chart.

2 Q. Sure.

3 A. On looking more closely on the original
4 strip I do see what I would call atrial bigeminy.

5 Q. What page?

6 A. It looks like 78.

7 Q. Show it to me, Doctor.

8 A. This interval from here to here is
9 narrower than from here to here. This is narrower
10 than from here -- this one is -- I don't know
11 whether that is artifact, but again, this one is
12 narrower and then the next one is cut off. That
13 is an alternating bigeminy.

14 Q. Okay. Going back to your note, Doctor,
15 what did you find to be the problem with Mr. Smith?

16 A. What do you mean?

17 Q. Well, what was your diagnosis?

18 A. He had hip surgery. A replacement of a
19 femoral head, and he was admitted to the intensive
20 care unit on a ventilator for ventilator
21 management.

22 Q. Why?

23 A. That, I don't know.

24 Q. Didn't you find out?

25 A. Well, the main reason would be that they

1 didn't reverse the anesthesia, Why they didn't
2 reverse the anesthesia, we don't know that, On
3 open heart patients -- we don't do that an many
4 patients. I leave that to the judgment of the
5 anesthesiologist,

6 Q. So it was your opinion that he was there
7 for the reversal of anesthesia?

8 A. Yes. To allow the anesthetic agents to
9 metabolize naturally.

10 Q. How long did that take?

11 A. He was taken off the ventilator on the
12 25th.

13 Q. Did you conduct any tests?

14 a. What do you mean?

15 Q. While he was in SICU?

16 A, Laboratory tests?

17 Q. Sure.

18 A. Yes.

19 Q. What did you conduct?

20 A, Electrolyte studies, You want specific
21 electrolytes?

22 Q. Why don't you refer to the page. Why
23 don't you go to doctor's orders, Doctor and see
24 what it is you ordered.

25 A. Okay, We have a panel called a CHEM-7

1 which includes --

2 Q. What page?

3 A. I'm sorry. 46.

4 Q. Go ahead,

5 A. CHEM-7 which includes electrolytes,
6 blood urea, nitrogen,

7 Q. You are Looking now what, in the middle
8 of that page, Doctor?

9 A. Yes.

10 Q. Before that up above you have got -- why
11 don't you read the whole thing if you would.

12 A. Top of the page? Ventilator orders, VT
13 is title volume at 1,000. IMV 10 is intermittent
14 of 10. .50 of FI02 which is the fraction of
15 inspired oxygen in percent, and plus five PEEP
16 which stands for Positive and Expiratory Pressure,
17 ABG's, arterial blood gases in one half hour,
18 verbal order, Dr. Oliver, and it looks like it was
19 signed by a respiratory therapist.

20 Q. And then countersigned by you?

21 A. Yes.

22 Q. And the next order is the same date.

23 Can you determine the time of these orders, Doctor?

24 A. Probably by going back to the flow sheet.

25 Q. Okay.

1 A, Approximately it looks like 1:30 in the
2 afternoon,

3 Q. Okay.

4 A, That's beyond page --

5 Q. Are we looking at the first order?

6 a, That would be on page 79D.

7 Q. Okay. Go ahead.

8 A. You want me to go to the next order now?

9 Q. Yes.

10 A, Increase PEEP to plus ten. ABG's,
11 within one half hour. Verbal order, Dr. Oliver.
12 Signed by the therapist, Ann Castellarin and
13 countersigned by myself.

14 Q. And what time was that order, sir?

15 A, It's not time, It's dated.

16 Q. Can you determine what time? It's the
17 same date?

18 A. I see what you're saying.

19 Q. Right,

20 A. Approximately between 1:30 and 2:00,

21 Q. That was the same time you gave me for
22 the first order?

23 A, I said 1:30. And this would be between
24 1:30 and 2:00.

25 Q. Is there a repeat for arterial blood

1 gases because you asked for that?

2 A. There was a change made in the
3 ventilator,

4 Q. I see.

5 A. We went from plus five to plus ten.

6 Q. Okay.

7 A. That's the reason you check on the blood
8 gas.

9 Q. Now, if you would continue with that
10 order.

11 A. Next it says CHEM-7, CBC, PT, PTT, CA is
12 calcium, MG, magnesium, cardiac isoenzymes, stat.

13 Q. Why stat? Stat means immediately, right'?

14 A. Yes.

15 Q. Why?

16 A. I don't know.

17 Q. Well, why don't you look through the
18 chart and figure it out,

19 A. Okay. Most likely because of the
20 irregular beat, heartbeat that the patient had
21 that -- you are asking specifically why the
22 isoenzymes or why the entire orders?

23 Q. Why the entire order of stat.

24 A. Probably because of the cardiac
25 arrhythmia.

1 Q. Arrhythmia meaning irregularity?

2 A. Right, the bigeminy, the irregular heartbeat.

3 Q. And what would these tests have shown
4 you, Doctor, or what would you be looking for?

5 A. Perhaps myocardial injury.

6 Q. And?

7 A. Or ischemia,

8 Q. Which of these tests would have assisted
9 you in determining whether that was present?

10 A. The isoenzymes may be elevated, The
11 white count on the CBC may be elevated.

12 Q. What is CBC?

13 a, Excuse me?

14 Q. What is CPK?

15 A. CBC or CPK is creatine phosphokinase.

16 Q. What is that?

17 A. It's an enzyme that is elevated with
18 ischemia or injury to the myocardium.

19 Q. What would be an abnormal reading? What
20 is normal range?

21 A. Usually five percent. Above five
22 percent of the total CPK value concerning the MU
23 fractions, the different fractions of this enzyme
24 or other people consider that the total unit value
25 of the MB fraction needs to be greater than 120 or

1 130.

2 Q. All right. Was there a determination
3 made as to the MB fraction of the CPK?

4 A. I'd have to look at the Lab data.

5 Q. Okay,

6 A. The MB fraction is two percent of 339.

7 Q. Wow is that?

8 A. What do you mean?

9 Q. Well, is that normal?

10 a, I wouldn't consider that abnormal. I
11 wouldn't -- yes, I wouldn't consider that abnormal.

12 Q. And you didn't at the time?

13 A. No.

14 Q. Did you do any follow-up tests on that?

15 A. I don't see any other reports of CPK.

16 Q. Why not?

17 A. I would just be speculating that we
18 didn't order additional enzyme --

19 Q. I think your speculation is correct, but
20 my question is why not?

21 a. ~~We~~ felt he didn't have any myocardial
22 injury.

23 Q. You were wrong, weren't you, Doctor?

24 MR. CHARMS: Objection.

25 Q. Have you reviewed this chart in its

1 entirety?

2 A. No.

3 Q. Tell me again why you believe that two
4 percent MB fraction is normal,

5 MR. CHARMS: Objection, It's
6 been asked and answered,

7 Q. It's a fraction of what? What is the
8 number that it's a fraction of?

9 A. Of the total CPK.

10 Q. Which is what? What was the number
11 there?

12 A. 339.

13 Q. And you consider that normal?

14 A. No, that's slightly elevated,

15 Q. So it's not normal?

16 A. That's correct. The total CPK is not
17 normal,

18 Q. The 339 you are saying is not normal?

19 A. That's correct,

20 Q. And what, does that indicate to you if
21 anything?

22 A. It could be from the surgery, from the
23 hip surgery itself.

24 Q. Could it also be from myocardial damage?

25 A. The total CPK?

1 Q. Yes.

2 A. Not in this case.

3 Q. Why not?

4 A. Because the MB fraction is only two
5 percent.

6 Q. And that's all right?

7 A. Yes.

8 Q. What, you are saying above five percent
9 would be abnormal?

10 A. Four to five percent, yes. Or 120 to
11 130 MB fraction units.

12 Q. I am not sure I understand.

13 A. Well, if it's two percent of -- let's
14 say it's two percent of 400,

15 Q. Okay .

16 A. That's --

17 Q. That would be 80?

18 A. That's 80. In my mind it's got to be
19 120 to 130.

20 Q. So two percent of 400 would be?

21 A. Eighty. So it's less than that.

22 MR. CHARMS: 8.

23 Q. No. It's 20 percent. Two percent of
24 400 would be 8.

25 A. Eight. Excuse me.

1 Q. Did you consider the prospect of any
2 abnormal heart rhythm that you found in terms of
3 the treatment of this patient?

4 A. No .

5 Q. Why not?

6 A. Because I reviewed -- there apparently
7 was a pre-operative EKG that I mentioned in my
8 note although I don't see it in the chart at this
9 particular time. It says the --

10 Q. You don't see what in the chart?

11 A. The pre-operative EKG. And according to
12 my note, it was unchanged from the pre-operative
13 EKG.

14 Q. Well, how was it in the pre-operative
15 EKG? Was it normal in that --

16 A. No. No, it was not,

17 Q. I see, So it was the same and that was
18 fine with you?

19 A. Yes .

20 Q. You said that if there is a belief on
21 your part of cardiac abnormality you call in a
22 cardiac consult?

23 MR. CHARMS: Objection . That's
24 not what he said at all.

25 Q. Is that what you said, sir?

1 A. That's not what I said,

2 Q. What did you say?

3 A. You asked me if I were in private
'4 practice. No. You asked me how would I treat a
5 heart attack and I told you how I would treat a
6 heart attack. Part of that would be to call in a
7 cardiologist.

8 Q. What was the interpretation of the ECG?

9 A. ECG?

10 Q. EKG.

11 A. EKG?

12 MR. CHARMS: Which one, Chuck?

13 MR. KAMPINSKI: The one that was
14 done right after he got to the surgical intensive
15 care unit.

16 MR. CHARMS: You mean an official
17 interpretation or what Dr. Oliver sees?

18 MR. KAMPINSKI: The official
19 interpretation.

20 A. Do you want me to read it entirely?

21 Q. Sure.

22 MR. ZELLERS: What page, Doctor?

23 THE WITNESS: 136.

24 ne Compared to record for 10-23-84, there
25 are now atrial ectopic beats and the P wave

morphology is variable.

Interpretation: Abnormal record due to

atrial ectopic beats, a wandering atrial

pacemaker, left anterior hemiblock, incomplete

right bundle branch block, probable left atrial

hypertrophy, left ventricular hypertrophy, and

ST-T wave changes indicative of left ventricular

strain or/and anterolateral wall myocardial

ischemia. Signed by J. Krall, M.D.

Q. Well, do you disagree with that

interpretation?

MR. CHARMS: I'll object to it

only insofar as it's related to --

Q. I'll ask another question.

MR. CHARMS: Only insofar as it's

related to a prior record which the Doctor doesn't

have in front of him and they are talking about

changes they see in those two, but beyond that you

can go ahead and answer, Doctor.

A. It is difficult for me to see some of

the ST segments, ST-T segments. However, I would

agree other than not being able to visualize those

with the rest of them.

Q. Well, then he found changes indicative

of left ventricular strain or anterolateral

1 wall myocardial ischemia?

2 A. That's what it says.

3 Q. Did you call in a cardiac consult based
4 on that finding?

5 MR. CHARMS: Objection, You can
6 answer it,

7 A. I did not call in a --

8 Q. Why not?

9 A. Again, all I can do is go back to the
10 note, again not seeing the prior EKG.

11 Q. I mean you saw this interpretation,
12 didn't you, Doctor?

13 A. Yes.

14 Q. My question is why didn't you do
15 anything based on it?

16 A. I probably did not feel it was
17 clinically significant,

18 Q. Why do you do an EKG?

19 A, What do you mean?

20 Q. Why do you have an EKG done if the
21 findings are not clinically significant?

22 A. It's not clinically -- I didn't **feel** it
23 was clinically significant.

24 Q. In other words, it was your judgment
25 that this was not --

1 A. It was a judgment,
2 Be -- appropriate for **purposes** of your
3 going further in terms of diagnosing any potential
4 heart attack?

5 A. That's correct.

6 Q. Who is J. Krall?

7 A. He is a cardiologist, non-invasive
8 cardiologist.

9 Q. What is his relationship to the hospital?
10 Do you know?

11 A. I do not.

12 Q. Why is it that his name is on this
13 interpretation?

14 A. Because he interpreted it.

15 Q. What does he do vis-a-vis the hospital
16 in terms of radiology?

17 A. Radiologist.

18 Q. Or I'm sorry, cardiology?

19 A. He has his own private practice.

20 Q. Does he contract with the hospital to
21 interpret --

22 A. I don't know.

23 Q. -- EKG's?

24 A. I don't know. I don't know.

25 Q. How did the patient progress during the

1 day of the 14th?

2 A. Do you mind if I refer to notes?

3 Q. I don't mind at all.

4 MR. CHARMS: Please do, Doctor.

5 A. First day you are talking, November
6 14th?

7 Q. That's correct.

8 A. I am looking at our flow sheet again.
9 And page 791. Blood pressure seemed to remain
10 stable throughout the day, heart rate remained
11 acceptable and stable throughout the day. IV's
12 were infusing at ordered rates, Blood gases were
13 checked throughout the day and they remained
14 within normal limits,

15 Nursing assessment notes note that the
16 patient was awake, had active bowel sounds.
17 Laboratory studies later on in the day were normal.
18 He was responding to verbal stimuli, opening his
19 eyes. Following commands without problems,
20 Really no problems.

21 a. How did he do that night?

22 A. Okay. Beginning at what time?

23 Q. At the time you just left off.

24 A. Okay. A portable chest x-ray was done
25 at 11 p.m. At 12 a.m. the patient easily aroused

1 with verbal stimuli, Able to move extremities.
2 Breath sounds were clear, Lab results were
3 notified to the resident. His percent of oxygen
4 was decreased,

5 Q. I take it you weren't there that evening?

6 A. That is correct.

7 Q. Okay, Go ahead.

8 A. At two a.m. patient complained of some
9 hip pain and he was given pain medication for that.
10 His endotracheal tube was cleared of secretions at
11 4 a.m. Six a.m. cleared of secretions again and
12 breath sounds remain clear, Hip dressing **was** dry
13 and intact.

14 Q. Is there any coffee-ground emesis that
15 evening?

16 A. I don't see any mention of that, Again,
17 I'm up to six a.m.

18 a. Okay.

19 A. That is the next day, 6 a.m., the 15th.
20 Do you want me to continue?

21 Q. Yes, please.

22 A. At 8 a.m. awake and alert, moving all
23 extremities, Dr. Smith in to visit at 8:45 a.m.
24 I was there at, my first name is written in the
25 chart at 9:15 a.m. Blood gases were drawn. We

1 was -- the endotracheal tube was removed at 10 --

2 Q. That was what time?

3 A. At 10 a.m.

4 Q. Okay

5 A. By respiratory therapy. **Blood** gases
6 were sent. I was made aware of the results at 11
7 a.m.

8 Q. How were they?

9 A. They were normal. pH, 7.41, PCO2, 33.
10 No. Excuse me. pH, 7.44, PCO2, 30/ PO2, 61.

11 8. Were you having blood work done also?

12 A. That's correct.

13 Q. How was the hemoglobin?

14 A. The hemoglobin was 11.4, hematocrit,
15 34.6.

16 Q. How was it when he first came in?

17 A. First day?

18 Q. Yes .

19 A. 13.4. That was the hemoglobin. I can't
20 see the hematocrit. It's not on the photocopy,
21 Do you want me to continue?

22 Q. Yes, please,

23 a. Sitting up in bed at noon, resting
24 comfortably around 1 p.m. Feeling comfortable at
25 4 p.m.

1 Q. Bow about 2 p.m.?

2 A. I am trying to -- something to cough, I
3 can't read it, and dry.

4 Q. What day are you on? I'm sorry.

5 a. I'm on page 80F. And that's the 15th.

6 Q. Okay. Go ahead,

7 A. Resting comfortably. I'm at 5:30 p.m.

8 Medicated for pain. Family in to visit at 6 p.m.

9 Patient repositioned at 10 p.m. with deep

10 breathing and coughing exercises. At midnight the

11 patient easily aroused to verbal stimuli. Given

12 pain medication at 12:30 a.m. Two a.m. neuro

13 checks were done, Four a.m. awake, complaining of

14 some gas pains. 4:30 p.m. -- I'm sorry, a.m., CBC,

15 some of the lab work drawn, Five a.m. the bed

16 linen was changed. Some swelling was noted in the

17 left knee, On to the next day, the 16th.

18 Continue?

19 Q. Yes, please.

20 A. Eight a.m. awake, alert, oriented. 8:30

21 a.m. resting comfortably. Up on bed pan 8:45.

22 Nine a.m. report called to floor, transport to

23 floor via bed. In satisfactory condition,

24 Q. How about the 16th?

25 A. That is the 16th.

1 Q. Okay, What time was he sent out of the
2 ICU?

3 A. 9 a.m.

4 Q. What was his hemoglobin on the 16th?

5 A. I believe it's 11.4, It's difficult to
6 read. It **looks** like it's 11.4.

7 Q. Was the monitor, the EKG on the entire
8 time that he was in the SICU?

9 A. Yes. The bedside monitor which monitors
10 his rhythm, EKG.

11 Q. But you didn't have a continuous strip
12 on it?

13 A. No.

14 Q. Why not?

15 A. Not hard copy.

16 Q. Why not?

17 A. ~~We~~ don't do that.

18 Q. What do you do, push a button on when
19 you want to read out?

20 A, That's correct, or it will alarm, If
21 it's an abnormal rhythm, it will pick it up, the
22 computer will pick it up and start printing out
23 the rhythm.

24 Q. I thought you said that you didn't have
25 it on the computer before?

1 A. **Not** computerized data management, full
2 data management where everything is recorded hard
3 copy. It can be retrieved hard copy, We do not
4 have that, It's computerized enough that when it
5 picks up the arrhythmia it will record out.

6 Q. Good. Do you recall any consults with
7 Dr. Smith or Stephens when Mr. Smith was in the
8 SICU?

9 A. What do you mean?

10 Q. Any discussion with him as to the
11 condition of your patient?

12 A. I don't recall, although I believe as I
13 mentioned earlier I was mentioned present at the
14 same time Dr. Smith was there. I would just
15 assume I would have conversed with him about the
16 patient.

17 Q. But you have no recollection?

18 A. I do not,

19 Q. And that would be also true of the
20 orthopedic residents who also reflected as being
21 present?

22 A. That's correct.

23 Q. Bow many residents did you have working
24 in the SICU in November of 1984?

25 A. Two.

1 Q. Who were they?

2 A. I think it's Dr. O'Brien and Dr. Divida.

3 Q. Are they still there?

4 A. They are not.

5 Q. They are not?

6 A. No. And they are not surgical residents.

7 Occasionally we have visiting residents from
8 outside hospitals from other specialties, Divida
9 is a surgery resident but he **was** from an outside
10 hospital,

11 Q. Where?

12 A. Huron Road. Dr. O'Brien was an
13 anesthesia resident from Brentwood Hospital.

14 Q. Are they still there? Do you **know**?

15 A. Dr. O'Brien is an attending anesthetic
16 at Brentwood. I don't **know** if Dr. Divida is still
17 at Huron Road. He may have finished his training.
18 I don't know.

19 Q. By the way, **was** it you that ordered the
20 cardiac enzymes or was it the orthopedic resident?

21 A. I did,

22 Q. Okay, Is it normal for the
23 anesthesiologist to continue to follow-up the
24 patient in the surgical intensive care unit
25 especially when he hasn't been taken off the

1 anesthesia?

2 A. Yes.

3 Q. All right. Did that occur in this case?

4 A. I don't remember.

5 Q. Well, is there anything in the record
6 that reflects that it did?

7 A. Progress notes. I do **not** see a note
8 which -- yes, I do. Excuse me. I see a note by
9 anesthesia on the 16th on page 119.

10 Q. What time on the 16th?

11 A. 1435 hours.

12 Q. Which would have been after he had left
13 the SICU?

14 A. That's correct.

15 Q. So there was none?

16 A. That's correct.

17 Q. Do you know why?

18 A. I do not.

19 Q. I just want to make sure that I
20 understand your testimony. You have indicated the
21 CPK of 349 would then be two percent is not
22 elevated? That's your testimony?

23 A. No, not -- that is **not** my testimony.

24 Q. The CPK you are saying is elevated but
25 the MB fraction is --

1 A. Not elevated.

2 Q. All right, And that that finding does
3 **not** indicate myocardial damage?

4 A. That's correct.

5 Q. That's your testimony?

6 **a.** Yes, that's correct.

7 Q. And that's why you didn't do an
8 additional CPK?

9 A. That's correct,

L0 Q. Did you see Mr. Smith at all after he
11 was discharged from SICU?

12 A. I did not.

13 Q. Assuming that you had seen some clinical
14 evidence of myocardial damage on Mr. Smith, what
15 would you have done?

16 A. I would have notified Dr. Smith or the
17 orthopedic resident and asked them do they want a
18 cardiologist to see the patient,

19 Q. Why wouldn't you have done it yourself?
20 I mean you are in charge of the patient in the
21 SICU.

22 A. But I'm a consultant, I am not the
23 patient's private physician,

24 Q. I see. So you have to get their
25 approval?

1 A. That is correct, I communicate with
2 referring doctors,

3 Q. So they rely on you then to some degree
4 to apprise them?

5 A. That's correct.

6 Q. Of the existence of any myocardial
7 damage?

8 A. That's correct, or any problems.

9 Q. But Dr. Smith was also in the SICU,
10 right?

11 A. According to records, yes.

12 Q. Okay. The findings that were made in
13 the tests, where would they have gone? Would they
14 have found their way to the chart?

15 A. They would have been available three
16 different places. One, on the flow sheet, the
17 surgical intensive care flow sheet that I looked
18 at the nursing notes from.

19 Q. Where would that have been?

20 a. At the bedside.

21 Q. Okay. Go ahead.

22 A. Second place would be on the lab
23 computer. We have a computer in our unit where
24 the laboratory sends up information and you can
25 retrieve it.

1 Q. Okay.

2 A. The third place is that we have a hard
3 copy, again, it's a computerized system but it's
4 separate from the CRT screen. You can retrieve it,
5 That's also in the surgical intensive care unit.
6 We have a printer as well as the terminal.

7 Q. Okay. And I take it that's what we see
8 in terms of the lab values here?

9 A. That's a computerized printout, yes.

10 Q. Would that have been in the chart with
11 the patient when he left SICU?

12 A. Parts of it may have been.

13 Q. What do you mean? What parts?

14 A. Lab data was done on the 16th, the day
15 he was -- the day he was transferred, that would
16 not have been in the chart.

17 Q. How about the 14th?

18 A. I believe.

19 Q. 15th?

20 A. Maybe. I don't know how fast the lab is
21 in getting their paperwork up to the --

22 Q. I am correct, am I not, Doctor, that no
23 cardiologist assessed Mr. Smith's cardiac status
24 in the SICU, is that correct?

25 A. That's correct, May I ask a question?

1 Are there any consulting forms, any consultant's
2 records which are separate forms that I haven't
3 seen?

4 Q. While he was in SICU you mean?

5 A. Yes.

6 Q. In other words, you are in charge of it.
7 You would know, wouldn't you?

8 MR. CHARMS: He just told you he
9 wouldn't know about other consultants.

10 Q. While he was in SICU. Well, go ahead
11 and look.

12 MR. CHARMS: Look at your records,

13 A. There's one consultant's note and that's
14 on the 13th before the patient came into the
15 intensive care unit,

16 Q. So the answer was there's no cardiology
17 consult while he was in SICU?

18 A. Right.

19 MR. KAMPINSKI: That's all I have.
20 Some of the other attorneys may have questions of
21 you, Doctor.

22 CROSS-EXAMINATION

23 BY MR. ZELLERS:

24 Q. Doctor, my name is Mike Zellers and I
25 represent the hospital, and I have been a little

1 bit confused by your testimony in terms of your
2 relationship with Mr. Smith in this case, You
3 have said on several occasions that you did not
4 consider Mr. smith to be your private patient?

5 A. That's correct.

6 Q. Is that your testimony?

7 A. That's correct, I'm a consultant.

8 Q. What do you mean by that?

9 A. Can I give you an analogy --

10 Q. Sure

11 a. -- to compare it? For example, a
12 patient of a surgeon develops an infection
13 somewhere and he decides he wants to have a
14 consultant, an infectious disease consultant to
15 see the patient; I am consulted for critical care
16 management, surgeon consults me.

17 Q. Did you consider Mr. Smith to be your
18 private patient in this case?

19 A. Private patient from the standpoint of
20 having an office practice and following him on a
21 routine basis, no, I don't have an office practice
22 with, quote, "private patients."

23 Q. You and I talked a couple days ago,
24 right?

25 A. That is correct



- 1
- 2
- 3
- 4
- 5
- 6
- 7
- a
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

1 physician responsible for Mr. Smith?

2 A. Right.

3 Q. I guess throughout the course of his
4 hospitalization?

5 A. Throughout the course of his intensive
6 care stay,

7 Q. Would you consider an anesthesiologist
8 to be in the same position with Mr. Smith as you
9 were?

10 A. While they are under anesthesia?

11 Q. Yes.

12 A. I suppose, yes. I mean if they are
13 billing the patient in terms of private -- if
14 that's your definition of a private patient.

15 Q. And I'm just trying to flesh out your
16 deposition so as we all go back and we look at
17 this we understand what you meant when you used
18 those words.

13 A. When I mean private patient I mean a
20 patient that I bill, That's all I mean. I don't
21 mean it's a private patient that has been with me
22 for months or years and then I see him in an
23 office and I see his family and all that.

24 Q. Using that definition then, Mr. Smith
25 was your private patient?

1 A. He is in that definition, yes.

2 Q. Now, you told Mr. Kampinski that in the
3 SICU you had an EKG machine that produced a
4 continuous readout?

5 A. No. Our bedside monitors have a CRT
6 screen that gives us a continuous visual readout.

7 Q. So when you referred to a continuous
8 readout you were talking about a visual readout?

9 A. That is correct, at the bedside.

10 Q. Were you talking about a hard copy
11 printout?

12 A. No, I am not talking about a hard copy
13 printout,

14 Q. Now, there are some segments of hard
15 copy printouts in the chart?

16 A. That's correct.

17 Q. How do you go about obtaining those
18 strips that go in the chart?

19 A. We have a recorder at the central
20 station where you could touch a button either at
21 the bedside or at the central station.

22 Q. So to get these strips you have to press
23 a button?

24 A. That's correct or there has to be an
25 abnormal rhythm that the computer picks up, The

bedside monitor is a computer and it will start recording, trigger the recording.

Q. So you don't have a strip that goes from the beginning of when Mr. --

A. No.

Q. -- Smith came in until he left the ICA?

A. No, we do not.

Q. And you had indicated to Mr. Kampinski that you thought the same type of equipment was available in the recovery room back in November of 1984?

A. Yes.

Q. What do you base your knowledge on?

A. I have been at St. Luke's since '83, July of '83 and as best I can recollect they have always had monitors in the recovery room.

Q. Do you know what other equipment they had in the recovery room in November of 1984?

A. They would have oxygen supply equipment, suction equipment. There's a code cart that sits between the recovery room and the intensive care unit. That's all I can think of for the moment.

Q. You are not responsible for the equipment in the recovery room, are you?

A. No.

1 Q. And the terms of the various brands of
2 machines and what they could do or could not do,
3 are **you** aware today of what could be done in the
4 recovery room in November of '84?

5 A. As Far as monitoring?

6 Q. Yes.

7 A. As best I can recollect, they have the
8 Honeywell E for M that I mentioned earlier and
9 those do have capability for printout as well as
10 they do monitor on the CRT screen.

11 Q. Would the type of printout you get be
12 the same type as in the SICU?

13 A. Yes.

14 Q. And to get a hard copy you'd have to
15 press a button to get it?

16 A. Yes. It may also print out if it alarms.
17 I am not sure. If there is an arrhythmia.

18 Q. Was the SICU open for business on
19 November 17th around 5:00 or 5:30 in the afternoon?

20 A. 17th? Yes. Best I can recollect.

21 Q. Were you in the hospital at that time if
22 you know?

23 A. At five in the afternoon, I don't
24 remember.

25 Q. Would there have been SICU staff people?

1 A. Yes,

2 9. People there?

3 A. Yes.

4 Q. So in terms of staffing, the patient
5 could have gone to the SICU?

6 A. Yes.

7 Q. You are not involved in the decision,
8 are you, as to whether or not a patient goes to
9 the SICU or the recovery room?

10 A. No.

11 MR. ZELLERS: I have nothing
12 further.

13 CROSS-EXAMINATION

14 ~~BY MR. GROEDEL:~~

15 Q. Doctor, my name is Marc Groedel. I have
16 a few questions for you. I represent Drs.
17 Stephens and Smith. The recovery room and the
18 SICU, where are they in relation to one another at
19 St. Luke's Hospital?

20 A. They are physically contiguous. They
21 are next to each other.

22 Q. I take it then it wouldn't be a
23 difficult task to get a patient from the recovery
24 room over into the SICU?

25 A. Not at all. It's a matter of a few feet,

1 Q. Are they divided by any wall or curtain?

2 A. Yes, It's not a -- it's a utility room
3 that separates the two.

4 Q. I take it that when a patient is in the
5 recovery room following surgery and is having
6 problems it would be no great difficulty to get
7 that patient over from the recovery room to the
8 SICU if it was found to be necessary to do so?

9 A. Assuming we weren't full.

10 Q. And that has happened in the past on
11 prior occasions?

12 A. That we have been full?

13 Q. No, that patients have been transferred
14 over from the recovery room to the SICU?

15 A. Many times,

16 Q. For any variety of reasons?

17 A. Yes, many times.

18 MR. GROEDEL: Thank you, Doctor.

19 MR. CHARMS: Chuck, anything?

20 MR. KAMPINSKI: Yes, Just a couple.

21 FURTHER CROSS-EXAMINATION

22 BY MR. KAMPINSKI:

23 Q. Did they have a twelve lead E#G in the
24 recovery room?

25 A. In November of '84?

1 Q. Yes.

2 A. I wouldn't remember.

3 Q. Were you aware of the fact that
4 Mr. Smith had hypertension when he was admitted to
5 the SICU?

6 A. I'd have to review --

7 Q. Go ahead.

8 A. -- the notes, I would have to say yes
9 because I do review other peoples' notes and here
10 *is* the consult from Dr. Jackson. His first
11 statement is known for hypertension,

12 Q. Were you aware that he required
13 vasopressor therapy?

14 A. Vasopressor therapy?

15 Q. Yes.

16 A. In specific what drug?

17 Q. I am not sure,

18 A. I am not aware of that.

19 Q. While he was in the hospital?

20 A. I am not aware of that.

21 Q. Dopamine infusion?

22 A. Yes.

23 Q. Were you aware of that?

24 A. Yes. That was in the surgical intensive
25 care unit.

1 Q. What is that for?

2 A. The dose that we used was for kidney
3 profusion, to improve the flow of blood to the
4 kidney,

5 Q. And the reason for doing that is what?

6 A. Because his urine output had dropped,

7 Q. While he was --

8 A. In the surgical intensive care unit,

9 Q. Why did it drop?

10 A. That could be for any number of reasons.
11 He was on PEEP at the time.

12 Q. I'm sorry?

13 A. PEEP, Positive and Expiratory Pressure
14 can reduce renal blood flow and thereby reduce
15 urine output, That could be one reason.

16 Q. What is another reason?

17 A. Could have been behind in fluid or could
18 have been both. Or he could have SIADH which is
19 Inappropriate Secretion of Antidiuretic Hormone
20 which would be stimulated by any number of things,
21 anesthesia, major surgery, such as what he had,

22 Q. Was he hypoxemic while he was in SICU?

23 A. No, he was not. The lowest PO2 that I
24 noticed reviewing was 70,

25 Q. What would you consider hypoxemia?

1 A. Less than 60 PO2 which is less than 90
2 percent saturated.

3 Q. He was 70 you said?

4 A. 70, ~~He~~ was 93 percent saturated.

5 Q. Did you review any other records in this
6 case other than the chart?

7 A. I did not,

8 MR. KAMPINSKI: That's all I have.

9 MR. ZELLERS: Nothing further.

10 MR. CHARMS: The Doctor won't
11 waive signature. As soon as it's ordered you can
12 send it out to him.

13 - - -

14

15

16

17

18

19

20

21

22

23

24

25

I have read the foregoing transcript from
page 1 to page 66 and note the following
corrections :

<u>PAGE:</u>	<u>LINE:</u>	<u>CORRECTION:</u>	<u>REASON:</u>
--------------	--------------	--------------------	----------------

ALAN MARK OLIVER, M.D.

Subscribed and sworn to before me this
day of , 1987.

Notary Public

My Commission Expires:

1 THE STATE OF OHIO,)
2) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

3 I# Aneta I. Pine, a Notary Public within and
4 for the State of Ohio, duly commissioned and
5 qualified, do hereby certify that ALAN MARK
6 OLIVER, M.D. was by me, before the giving of his
7 deposition, first duly sworn to testify the truth,
8 the whole truth, and nothing but the truth; that
9 the deposition as above set forth **was** reduced to
10 writing by me by means of Stenotypy and was
11 subsequently transcribed into typewriting by means
12 of computer-aided transcription under my
13 direction; that said deposition was taken at the
14 time and place aforesaid pursuant to notice; and
15 that I am not a relative or attorney of either
16 party or otherwise interested in the event of this
17 action.

18 IN WITNESS WHEREOF, I hereunto set my hand
19 and seal of office at Cleveland, Ohio, this 2nd
20 day of June, 1987.

21 

22 Aneta I. Fine, RPR, Notary Public
23 within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 441.23

24 My Commission Expires: February 27, 1991.
25