

1 The State of Ohio,)
 2 County of Cuyahoga.) SS:

Doc. 345

3 IN THE COURT OF COMMON PLEAS

4 - - - - -
 5 DEWEY GLENN JONES, ET AL.,)
 6 Plaintiffs,)
 7 -v-) Case Number 306012
 8 MERIDIA HURON HOSPITAL,) Judge Lillian J. Greene
 9 ET AL.,)
 10 Defendants.)

11 TELEPHONE DEPOSITION OF MARSHALL J. ORLOPP, M.D.
 12 Thursday, July 3, 1997

13 - - - - -
 14 Telephone deposition of MARSHALL J. ORLOPP, M.D., called by
 15 the Defendants for examination under the Ohio Rules of
 16 Civil Procedure, taken before me, the undersigned, Aimee N.
 17 Szinte, a Notary Public in and for the State of Ohio, at
 18 the offices of Jacobson, Maynard, Tuschman & Kalur Co.,
 19 L.P.A., 1001 Lakeside Avenue, Suite 1600, Cleveland, Ohio
 20 44114, commencing at 11:35 a.m. the day and date above set
 21 forth.

22 - - - - -
 23 CORSILLO & GRANDILLO
 24 COURT REPORTERS
 25 950 Citizens Building
 Cleveland, Ohio 44114
 216-523-1700
 - - - - -

1 APPEARANCES :

2 On Behalf of the Plaintiffs:

3 Charles Allen, Esquire (via telephone)
4 The Keenan Law Firm
5 148 Nassau Street, NW
6 Atlanta, GA 30303

7 On Behalf of the Defendants Meridia Huron
8 Hospital, Winston Ho, M.D. and Lakeland Medical
9 Group:

10 James S. Casey, Esquire
11 Reminger & Reminger Co., L.P.A.
12 The 113 St. Clair Building - 7th Floor
13 Cleveland, Ohio 44114

14 On Behalf of the Defendant Rafal Badri, M.D.:

15 R. Mark Jones, Esquire
16 Jacobson, Maynard, Tuschman & Kalur
17 Co., L.P.A.
18 1001 Lakeside Avenue - Suite 1600
19 Cleveland, Ohio 44114

20 On Behalf of the Defendant Peter Adamek, M.D.:

21 Susan M. Reinker, Esquire
22 Jacobson, Maynard, Tuschman & Kalur
23 Co., L.P.A.
24 1001 Lakeside Avenue - Suite 1600
25 Cleveland, Ohio 44114

- - - - -

1 MARSHALL J. ORLOPP, M.D.

2 called by the Defendants for examination under the Ohio
3 Rules of Civil Procedure, after having been first duly
4 sworn, as hereinafter certified, was examined and testified
5 as follows:

6

- - - - -

7

EXAMINATION

8

- - - - -

9 BY MR. JONES:

10 Q Doctor, we were just introduced. My name is Mark
11 Jones. I represent Dr. Rafal Badri in the lawsuit that
12 we're here about today.

13 a Pleased to meet you, Mr. Jones.

14 Q Nice to meet you too, Doctor.

15 A I'm sorry you're not here because it's absolutely
16 beautiful here.

17 Q Well, isn't it always beautiful in San Diego?

18 A Almost always. The **sun** is out and the temperature is
19 79 degrees and the birds are singing.

20 a Well, you know we're not having a bad day here in
21 Ohio either.

22 A That's right. We should be talking in the winter.

23 Q That's right. What I want to do, Doctor, is get your
24 deposition done so we can both get outside and enjoy this
25 lovely day.

1 A I have to go to work after this deposition.

2 Q Okay. Well, then so you can get back to work, which
3 I'm sure you would rather be doing.

4 Doctor, could you please just for the record give us
5 your full **name** and your primary business address?

6 A Yes. My name is Marshall, that's M-a-r-s-h-a-l-l,
7 middle initial J., Orloff, O-r-l-o-f-f. And my address is
8 UCSD Medical Center, 200 West Arbor, A-r-b-o-r, Arbor
9 Drive, San Diego, California 92103-8999.

10 Q Doctor, how old are you?

11 A I'm 79 years old.

12 Q I've got a copy of your curriculum vitae. It is not
13 dated, but the last entries on this are for publications
14 358, 359 and 360, which would have been submitted for
15 publication. **Is** that a current CV, Doctor, do you know?

16 A The CV within six months.

17 Q **All** right. Are there any additional publications
18 within the past six months, Doctor?

19 A I think there are five or six additional publications
20 within that time frame.

21 Q Would any of those publications have any relevance to
22 the issues presented in this particular medical/legal
23 matter?

24 A They do not.

25 Q How about your current positions as far as employment

1 and your practice, is that still current within the last
2 six months?

3 A Yes. I think everything else in the CV is correct.
4 It has not changed in the last six months.

5 Q Okay. Doctor, could you describe for me your current
6 professional activities, let's start with any
7 administrative activities that you are regularly involved
8 in.

9 A The administrative activities are minimal.

10 Q Okay. How about teaching activities, Doctor?

11 A Teaching activities are large. They're really
12 intimately related to my clinical activities. The two go
13 hand in hand. They really can't be separated out.

14 So just to shorten it for us, I spend about 70
15 percent of my time in clinical activities, and all of those
16 clinical activities involve students, medical students or
17 interns or residents. So they're intimately involved. And
18 then I spend about 25 percent of my time approximately in
19 research. And I spend about 5 percent of my time in
20 administrative activities.

21 And those administrative activities have to do with
22 the University, serving on special committees of the
23 University. I try to avoid that as much as possible. And
24 some national committee assignments that I have or
25 functions that I have. But those have become a small part

1 of my activities.

2 Q Your current research activities, Doctor, can you
3 give me the area or areas of research that you're currently
4 involved in?

5 A Yes. They have to **do** currently with two areas, liver
6 disease, and the other area is with transplantation of the
7 pancreas for diabetes.

8 Q Your clinical practice, Doctor, can you describe your
9 clinical practice to me?

10 A Well, it's a practice mainly of general surgery, some
11 noncardiac thoracic surgery. That part is a **small** part of
12 my practice. And I do the full spectrum of general
13 surgery, that is everything that falls within general
14 surgery. But I would have to say that I do surgery of the
15 biliary tract, the liver and the pancreas more than any
16 other area of surgery, not to the exclusion of, but more
17 than other areas of surgery.

18 Q When you talk about surgery on the biliary tract,
19 does that also include surgery on the gallbladder?

20 A That's part of the biliary tract.

21 Q Can you tell me the last time, Doctor, you did an
22 open cholecystectomy yourself?

23 A Two weeks ago.

24 Q Can you give me an idea of how often you do an open
25 cholecystectomy, Doctor?

1 A I would say about 30 times a year.

2 Q And in those 30 times per year, Doctor, considering
3 your current status at the medical center, are you the lead
4 surgeon or are you supervising residents or fellows in this
5 type of surgery primarily?

6 A No. I think both of those things. Sometimes --
7 There are always residents involved in all of the
8 operations that I do. Sometimes I do the entire operation,
9 sometimes I do part of the operation and supervise the
10 resident in part of the operation, and sometimes I
11 supervise the resident for the entire operation. So it
12 varies.

13 Q Doctor, can you tell me the last time you did a
14 laparoscopic cholecystectomy?

15 A Oh, that would be probably about a month ago.

16 Q And approximately how many laparoscopic
17 cholecystectomies do you do per year, per month, however
18 you want to --

19 A I would say approximately 15 laparoscopic
20 cholecystectomies a year.

21 Q I'm sorry, was that 15?

22 A Yes, 15. 1-5.

23 Q Okay. Do you **do** surgical drainage of the gallbladder
24 yourself, Doctor?

25 A You mean a cholecystostomy, **is** that what you're

1 talking about?

2 Q Correct.

3 A Yes, I do.

4 Q Can you give me an idea of how many of those you do a
5 year or how often you do those?

6 A Well, those are for me and I think for everybody else
7 not very frequent operations, and maybe I do a couple of
8 those a year.

9 Q Two or three?

10 A Something like that.

11 Q Okay. How often do you refer a patient to an
12 invasive radiologist for percutaneous drainage of the
13 gallbladder, Doctor?

14 A Again, maybe once or twice a year.

15 Q And when was the last time or how often a year do you
16 refer patients for electric shock wave lithotripsy for
17 gallbladder stones?

18 A That would be infrequently in the past year. More
19 frequent a few years ago, but right now it would be
20 infrequent. Maybe once or twice a year.

21 Q Why have the number of patients you have referred for
22 lithotripsy decreased over the last few years?

23 A Well, I think that that's the general experience
24 throughout the United States. With the advent of
25 laparoscopic cholecystectomy, lithotripsy really declined

1 markedly. **And** in my practice and in my department we use
2 lithotripsy mainly for poor risk patients. Those are the
3 circumstances under which we use it.

4 Q And that occurs approximately once or twice a year at
5 this time?

6 A For that specific modality, yes.

7 Q Okay. **How** about repairs for percutaneous drainage of
8 the gallbladder, **is** that something that's been increasing
9 in frequency over the past few years or decreasing or about
10 the **same**?

11 A No. I think similarly it has remained about the
12 same, and the reasons are similar.

13 Q So those types of patients are referred primarily
14 because they're poor surgical risks?

15 A That's correct.

16 Q How about your experience with cholecystotomy, is
17 that increasing over the past few years, decreasing or
18 about the **same**?

19 A **Mr. Jones**, I don't think you're using the right term.

20 Q Correct me if I'm misstating it, Doctor.

21 A I think if we are talking about what we started out
22 talking about a few moments ago, we are talking about
23 cholecystostomy, which is a drainage procedure of the
24 gallbladder done surgically. I think that's what you want
25 to ask me.

1 Q Yes. I left out an S. I'm sorry.

2 A Yes, cholecystostomy. And the frequency of that has
3 remained about the same over the years.

4 Q I would expect that the incidence of laparoscopic
5 cholecystectomies has increased dramatically over the last
6 several years.

7 A There is no question about that. That's true
8 throughout the world.

9 Q How about your own experience in performing
10 laparoscopic cholecystectomies, has that increased
11 dramatically over the last few years?

12 A No, it hasn't, but that's by my own design.

13 Q **Why** have **you** elected ~~not~~ to become more involved in
14 laparoscopic cholecystectomies?

15 A Well, I tend to do complicated cases of biliary
16 surgery and cholecystectomy and have the younger men **of** my
17 department and women do the straightforward laparoscopic
18 cholecystectomies, **so** that I don't seek to do a larger
19 number.

20 Q Doctor, what is the primary hospital in which you
21 practice?

22 A It's called UCSD Medical Center and it is the one
23 where my office is located. The address is similar.

24 Q And is that a large facility?

25 A You have to define large for me.

1 Q How many beds is it?

2 A About 380 beds.

3 Q Do you have any other hospital affiliations? I'm
4 limiting this only to affiliations where you regularly
5 perform surgery.

6 A Regularly is the key word. I have a number of other
7 ones, but that's the one where I do most of my surgery.
8 The University -- UCSD Medical Center has two facilities.
9 That's one of them. And the other one is in LaJolla. It's
10 a newer and smaller facility and it's called the Thornton
11 Hospital. And I do I would say an occasional operation
12 there, but most of them are done where I said I do them.

13 Q Okay. Doctor, could you just briefly describe for me
14 your experience in doing medical/legal work?

15 A You would have to focus that question a little bit.

16 Q All right. Can you give me just an idea, however you
17 want to describe it, as to your personal involvement in the
18 review of cases that involve allegations of medical
19 negligence.

20 A I would say over the years, and the years are many, I
21 have been involved in the situation that you described
22 perhaps, and this is a gross estimate, about 50 times.

23 Q Has the amount of time and the number of cases that
24 you have reviewed in this area increased over the years or
25 decreased?

1 A It has increased. It has increased in the recent
2 years.

3 Q How recent, Doctor?

4 A Oh, I would say in the past five or six years it has
5 increased.

6 Q Do you have any understanding as to why you have
7 increased your involvement in this type of medical/legal
8 work?

9 A It is entirely a time factor. Earlier on as the
10 Chairman of a large department with very heavy
11 administrative responsibilities as well as the other kinds
12 of responsibilities I described, I simply didn't have the
13 time to do that kind of thing very often, and so I declined
14 most of the invitations that I received. I am no longer
15 the Chairman of the department. **As** you can see, I have
16 limited the amount of administrative work that I do
17 substantially, and so I have more time to consider that
18 kind of work.

19 Q I noticed when you gave me a breakdown of your
20 professional time you didn't include any percentage for the
21 amount of time spent in medical/legal work, Doctor. Is
22 there a percentage of time that you can put into that?

23 A I don't think I can, but I want to assure you it's
24 very small.

25 Q Can you give me an idea, Doctor, within say the last

1 year how many cases you have reviewed?

2 A I would say approximately six or seven cases.

3 Q And are you asked to review cases in any particular
4 area of medicine?

5 A Well, I have never been asked to review a case in
6 psychiatry. I'm asked to review cases within the fields of
7 general and thoracic surgery by and large.

8 Q Other than the field of psychiatry do you feel that
9 you are competent to render expert opinions in every other
10 field of machine?

11 A No. You know I'm not. I use that as an example.
12 And if you want me to go through the specialties in which I
13 have been asked to review cases, I'll stretch my mind and
14 do that. But I focus on the fields in which I practice and
15 in which I have expertise, which are general and thoracic
16 surgery.

17 Q Do you feel that you are expert in the area of let's
18 say anesthesia?

19 A No, I'm not expert in the area of anesthesia.

20 Q Are you an expert in the area of internal medicine?

21 A Well, that's much too broad a question. There are
22 areas within what might be called internal medicine where I
23 have a great deal of experience and have rendered expert
24 opinions about those areas.

25 Q Are those areas limited or are they far flung?

1 A I think they're limited.

2 Q How about the area of cardiology, are you competent
3 as an expert in any particular aspect of cardiology care,
4 Doctor?

5 A No. I'm not a cardiologist. I deal with patients
6 with heart disease very frequently and I'm Board certified
7 in cardiothoracic surgery, so though it's not an area of
8 ignorance, I don't consider myself an expert cardiologist.

9 Q Okay. Doctor, what do you charge for your review
10 time in a medical/legal case?

11 A I charge \$500 an hour.

12 Q Is that for any time that you spend involved in
13 medical/legal matters?

14 A For all time that I spend.

15 Q Okay. Doctor, when were you first contacted about
16 this particular case involving Dewey Jones?

17 A I think it was in the month of February 1997.
18 February 1997.

19 Q **And** how was this first contact made, Doctor, do you
20 know?

21 A It was made by letter.

22 Q And who was the letter sent from, **do** you recall?

23 A I'm sorry. Would you mind repeating that question?

24 Q Sure. Who was the letter from?

25 A The letter was from Don Keenan.

1 Q I hear the shuffling of papers, Doctor. Do you have
2 that letter with you today?

3 A I'm looking for it, but I'm not sure that I have
4 identified it.

5 Q When you have it, Doctor, let me know.

6 A I'm sorry. I can't find it.

7 a Do you think that that letter has been retained by
8 you somewhere, Doctor?

9 A I think that's likely. Probably would have been
10 retained by my secretary.

11 MR. JONES: Charles?

12 MR. ALLEN: Yeah.

13 MR. JONES: I would like you before
14 you leave there today to see if you can find
15 that letter or if you have a copy of it in
16 your own file.

17 MK. ALLEN: Sure.

18 MR. JONES: I don't know if you have
19 your whole file there and can show the
20 doctor the letter that was sent by Mr.
21 Keenan.

22 MR. ALLEN: I don't have it with me,
23 but we'll find it and we'll either attach it
24 or --

25 MR. JONES: Instead of formally

1 attaching it, because we don't have it here
2 right now.

3 MR. ALLEN: I'll make sure we locate
4 it and I'll get you the original copy of it.

5 MR. JONES: Okay. I would appreciate
6 it.

7 MR. ALLEN: Sure.

8 Q Doctor, other than this first letter sometime in
9 February of this year have you had any further contact
10 before today with anyone from Mr. Keenan's firm?

11 A Yes, I have.

12 Q Has there been any more correspondence between
13 yourself and Mr. Keenan's firm?

14 A There has been correspondence.

15 Q Do *you* know how many letters you have received from
16 Mr. Keenan's office?

17 A I'm looking at my file and I find a letter that has a
18 date 7 February, and it says -- Would you like me to read
19 it to you? It's short.

20 Q If it's short,

21 A "Please find enclosed a check to cover your retainer
22 fee and a complete copy of Mr. Jones' medical records for
23 your review. The second set of records you requested will
24 be forwarded to you on Monday. If you have any questions,
25 please give me a call at" so on so forth. "I look forward

1 to working with you on this case. With warm regards,
2 Sincerely, Karen D. Parley for the firm."

3 Q Do you know which records you actually received with
4 that first letter, Dr. Orloff?

5 A The set of records consisted of two volumes. One was
6 a volume that was labeled past history records, and the
7 other was a volume covering the Meridia Huron Hospital
8 admission from 10-17-94 to 11-21-94.

9 Q In the past medical history volume that you have,
10 Doctor, do you know which medical records were included in
11 that volume?

12 A That included the medical records beginning with an
13 admission to Community Hospital of Bedford from 7-20-91 to
14 7-22-91, and then going on through some -- the 13
15 admissions, perhaps 14 admissions up until the admission to
16 Meridia Huron Hospital on 10-17-94.

17 Q The letter refers to additional records that you
18 requested. Do you know which additional records those
19 were?

20 A Oh, I simply requested a duplicate copy of the
21 records that had been sent before.

22 Q Why did you --

23 A It wasn't any new records. It was simply a
24 duplicate.

25 Q Why did you request a duplicate copy, Doctor?

1 A That's my standard practice. I request two copies
2 because I frequently cut out pieces of a record to provide
3 chronological records, a much shortened record of the
4 case. And that, of course, destroys the records, so I want
5 to have one complete set on hand after I have destroyed
6 parts of the other one. And it's a lot less expensive for
7 a firm to **do** the duplicating than it is for me to do the
8 duplicating.

9 Q And did you, in fact, go through the one volume or
10 the first set of volumes and make a smaller compendium of
11 those for your own use?

12 A Yes, I did.

13 Q **So** what you currently have as far as records is a
14 complete set of the medical records you have described to
15 me and in addition a shorter set, a smaller set that you
16 have culled from the complete records?

17 A That's exactly correct.

18 Q After you received the second set, Doctor, have you
19 received any additional medical records?

20 **a** No, I have not received **any** additional medical
21 records.

22 Q Have you received any deposition transcripts from
23 this case?

24 A Yes. I received four deposition transcripts.

25 Q Can you tell me which depositions those were?

1 A Dr. Badri's deposition, Dr. Ho's deposition, Dr.
2 Adamek's deposition, and Dr. O'Neil's deposition.

3 Q Did you receive entire transcripts of those
4 depositions, Doctor?

5 a I believe they're entire transcripts. I'm not sure
6 if they contain all of the exhibits, but I believe they're
7 entire transcripts.

8 Q Did you review each of those depositions?

9 A Yes, I did.

10 Q Have you made any notes from your review of those
11 depositions?

12 A No, I did not.

13 Q Have you received any written reports from other
14 experts in this case, Doctor?

15 A No, I have not.

16 Q Have you received any literature from Mr. Keenan's
17 law firm in this case?

18 A No.

19 Q Have you reviewed any literature prior to the
20 preparation of your report or prior to this deposition
21 today for the purpose of preparing yourself for testimony
22 in this case?

23 A No, I haven't.

24 Q I notice in the past, Doctor, from your CV that you
25 have written chapters for the Textbook of Surgery that

1 Sebastian edited, correct?

2 A That is correct.

3 Q I noticed that you haven't provided chapters in the
4 most recent editions of that text, but is that a good
5 authority in this particular area?

6 A Well, it's okay. It was a good authority when I was
7 writing the chapter.

8 Q Has someone else written the chapters on the two
9 areas in the most recent texts?

10 A They didn't drop the chapters, yes. I reached a
11 point that I had written -- even before Sebastian assumed
12 the editorship of Christopher's Textbook of Surgery I had
13 written for seven consecutive editions, and I decided that
14 that was enough. It's a great deal of work to do that, and
15 so I simply asked David Sebastian to be excused from next
16 editions.

17 Q Are you familiar with the most recent editions as far
18 as the chapters on the biliary tract?

19 a I have looked at the chapters on the biliary tract in
20 the recent editions.

21 Q Do you feel that the most recent chapters on the
22 biliary tract in the most recent editions are less reliable
23 and authoritative than your own chapters?

24 A Oh, I wouldn't say that. I was really being
25 facetious when I made that comment.

1 Q I thought you were too, Doctor, but that doesn't come
2 over on the transcript.

3 A Well, yes, I think they're well done. You know, I
4 can't vouch sentence for sentence for their accuracy or
5 validity, but I think in general it's a good textbook of
6 surgery and the chapters are well done.

7 Q Is it used by medical students in the teaching of
8 medical students in the institutions that you're affiliated
9 with?

10 A Yeah. It's one of the textbooks that is used.

11 Q What's another text that you use?

12 A Well, you know Schwartz textbook. Sebastian's
13 textbook when I was writing for it was the most widely used
14 textbook in the world. And I don't know if it was related
15 to the fact that I stopped writing in it, but Schwartz
16 really overtook Sebastian and now is by far the most widely
17 read textbook in the world. It really has -- Sebastian's
18 circulation has decreased. As a matter of fact, I should
19 tell you that David Sebastian mentioned that to me and
20 wondered if I wanted to come back.

21 Q But you're not up to that at this point?

22 A Oh, I'm up to it. I just don't -- I'm not interested
23 in doing the eighth consecutive, or not consecutive, the
24 eighth chapter in that textbook.

25 Q Do you think Schwartz's text is a good authority on

1 this particular area; that is, the biliary tract?

2 A Yeah. Generally speaking it's a good authority. You
3 know, again, I can't vouch sentence for sentence for
4 everything that's in there.

5 Q That's fair enough.

6 A But I think it's a good textbook.

7 Q Doctor, do **you** still subscribe to journals in your
8 area **of** medicine?

9 A I do.

10 Q Can you just tell me which journals you subscribe to
11 yourself?

12 A Yes. I subscribe to Annals of Surgery, to the
13 journal called Surgery, the American Journal of Surgery, to
14 Archives of Surgery, to the journal called
15 Gastroenterology, to the journal called Hepatology, to the
16 New England Journal of Medicine, to the Journal of the
17 American Medical Association, to the Journal of the
18 American College of Surgeons. Those are the ones that
19 occur to me.

20 Q Okay. Doctor, I've received a copy of a two-page
21 document that has your signature and the date of March 5,
22 1997. Do you have **a** copy of that with you today?

23 A Yes, I do.

24 Q And can you tell me, Doctor, did you prepare this
25 document?

1 A Yes, I did.

2 Q And I mean, how was it prepared? Can you just
3 describe it for me?

4 A I prepared the document. I sent the draft of the
5 document to Mr. Allen. We had a brief conversation on the
6 phone about the document and then the document was prepared
7 in its final form and transmitted. I note it's signed on
8 the 5th of March 1997.

9 Q Now, Doctor, the original draft of this report, do
10 you actually have that typed in your office?

11 A Yes.

12 Q And then that was transmitted. Was it faxed to Mr.
13 Allen?

14 A I don't remember that.

15 Q Okay. But you clearly recall a first draft being
16 forwarded to Mr. Allen?

17 A Yes, I do.

18 Q Okay. Do you have a copy of that first draft,
19 Doctor?

20 A It's not in my file. I don't have a copy.

21 MR. JONES: Charles, do you have a
22 copy of that first draft?

23 MR. ALLEN: I'm not sure. I'm not
24 sure. I'll have to check with Bob back at
25 the office.

1 MR. JONES: First of all, Charles, I'm
2 going to ask that you check to see if you
3 have retained a copy of that first draft,
4 all right. And if you'll do so without a
5 formal request, if you would forward that
6 with the correspondence from Dr. Orloff's
7 office also.

8 MR. ALLEN: Okay. No problem.

9 MR. JONES: If you do not have a
10 retained copy of that, I need to ask the
11 doctor a few more questions.

12 Q Doctor, the first draft of this report, was it
13 prepared on a computer?

14 A I don't know the answer to that.

15 Q Was it prepared by a secretary in your office?

16 A Yes.

17 Q Do you know who the secretary was?

18 A No.

19 Q Do you know whether or not you have the ability to
20 check to see whether whoever prepared the first draft has
21 retained the data from that first draft in the computer
22 system in your office?

23 A By data do you mean retained the first draft?

24 Q Yeah. The first draft would be contained in a
25 computer file, I would assume. Do you know whether it's

1 possible in your office to check to see if that first draft
2 is still retained within the computer files in your office?

3 A It is possible to check that, but I seriously doubt
4 that it is.

5 Q Why would you doubt it?

6 A Well, because it was replaced by a final draft. And
7 we don't fill our computers with all the early drafts and
8 things like that with the large amount of paperwork that we
9 do. We just throw it out.

10 Q Doctor, the final draft that I have here with me with
11 your signature on it --

12 A Yes.

13 Q -- was that actually the final draft actually
14 prepared in your office then?

15 A Yes.

16 Q Can I ask why it's not contained on a letterhead out
17 of your office?

18 A Well, I can't give you a specific reason for that.
19 It is not -- it wouldn't be uncommon to prepare it in
20 exactly the way in which you see it there, on a plain piece
21 of paper.

22 Q So in your past experience in preparing reports in
23 medical/legal cases it's not uncommon for you to send out a
24 report that does not contain any letterhead, any
25 typewritten date, any information designating who typed the

1 report, but just a blank two pages, just a two-page report;
2 something like that, that's not unusual?

3 A That's not uncommon. In fact, it is I think more
4 common than not, not to type that on letterhead paper.

5 Q Prior to preparing the first draft, Doctor, did you
6 receive any directions from Mr. Allen or Mr. Keenan or
7 anyone from their law firm on how to prepare this report?

8 A No.

9 Q Is it your custom and practice, Doctor, to start your
10 reports off, I, Marshall Orloff, M.D.?

11 A By custom, I don't know what you mean. There is no
12 custom that I'm familiar with.

13 Q So it wouldn't be unusual for you to start one of
14 your reports with, I, Marshall Orloff, M.D.?

15 a Identifying myself, no.

16 Q In this way?

17 a No, that wouldn't be unusual.

18 Q Identifying yourself in this way?

19 A Yeah. That's not unusual.

20 Q Okay. Is it your custom and practice, Doctor, in
21 correspondence that goes out of your office that your
22 secretary does not put on any identifying information
23 regarding who it was that prepared the typewritten report
24 and the date that it was prepared?

25 A This is not correspondence. I don't consider this

1 correspondence. If I were to write you a letter, it would
2 contain the date and it would contain the secretary who
3 typed it, her initials. But for a document such as this or
4 similar documents, that's not unusual.

5 Q Doctor, after forwarding your first draft and
6 discussing the first draft with **Mr.** Allen do **you** recall
7 what changes were made in your report?

8 A Yes, I do.

9 Q Okay. Why don't you tell me what changes were made.

10 A The report remained as it was originally formulated
11 with the addition of one phrase. And that phrase appears
12 in the second paragraph of the document in the second line
13 -- sorry, the third line of the second paragraph.

14 Q **So** what was the phrase that was added?

15 A The original said, It is my considered opinion that
16 his misfortune, so and on and forth. And the phrase that
17 was added is, It is my considered opinion within a
18 reasonable degree of medical certainty that his misfortune
19 is the result of, **so** on and so forth.

20 Q **So** the term reasonable degree of medical certainty
21 was just added?

22 A Within a reasonable degree of medical certainty.

23 Q Doctor, what does the phrase within a reasonable
24 degree of medical certainty mean to you?

25 A Just what it says, within a reasonable degree of

1 medical certainty. It's just like all those other legal
2 phrases you use.

3 Q I use a lot of them, Doctor. I'm asking what this
4 particular one means to you.

5 A Well, you know, it's a frequently used phrase. It
6 really isn't a very precise phrase because it's so hard to
7 bring precision to these opinions. And it means exactly
8 what it says, within a reasonable degree of medical
9 certainty.

10 Q Doctor, do you have any notes that were made during
11 your review of the volumes of medical records, the
12 depositions, et cetera in this case?

13 A What we talked about before is what I have, which is
14 to extract the material that I thought was germane and
15 prepare a chronological record using that: very same
16 material. And those are the notes that I have. Let me add
17 to that, if I may.

18 Q Please.

19 A And from that, that chronological record, I prepared
20 a summary of the chronological record, the reduced,
21 tightened, small form, short form chronological record, and
22 that, again, is just a factual summary. it's not a summary
23 of opinion.

24 Q But it's something that you actually typed up, had
25 typed up in your office?

1 A I didn't have it typed up. It's in my own
2 handwriting.

3 Q Okay. And how many pages is this chronology?

4 a The chronology? I'm sorry, I didn't --

5 Q Yes. This handwritten chronology that you have, how
6 long **is** it?

7 A You mean the paste and cut chronology?

8 Q No. I thought you said that you had prepared a
9 handwritten chronology.

10 a No. I said I prepared the paste and cut
11 chronological record and then I made a summary of that, and
12 the length of the summary is four pages.

13 MR. JONES: Okay. Charles, I would
14 like a copy of that **also** when you get the
15 correspondence together and **so** forth.

16 MR. ALLEN: **Sure.**

17 MR. CASEY: And Charles, this is Jim
18 Casey. I would like the paste and cut as
19 well.

20 Q Well, let me ask, how long is the set of culled
21 records that you have, Doctor? How many --

22 A It -- well, it takes -- The first part of it is 26
23 pages and the second part of it, it goes on from there,
24 consists of about 50 pages of progress notes.

25 MR. JONES: Well, I guess Mr. Casey

1 wants a copy of that too, Charles.

2 MR. ALLEN: Are you serious?

3 MR. JONES: That's what he's asking
4 for.

5 MR. CASEY: At my expense.

6 MR. ALLEN: All right. We'll make a
7 copy of it.

8 THE WITNESS: Now, I'm sorry, who was
9 that that wanted the copy?

10 MR. CASEY: Doctor, we haven't met
11 yet. My name is Jim Casey and I represent
12 the hospital.

13 THE WITNESS: Nice to meet you, Mr.
14 Casey. I would ask that you read it word
15 for word.

16 MR. CASEY: I don't know how to take
17 that, but I will.

18 THE WITNESS: I hope you're smiling,
19 Mr. Casey.

20 MR. CASEY: I am.

21 THE WITNESS: That's good.

22 Q Doctor, I want to turn to the report that you have
23 prepared and I want to go through the opinions that you
24 give, so do you have a copy of it there?

25 A I have the report, yes.

1 Q Okay. The first overall opinion is that you feel the
2 decision to perform the cholecystectomy in this case was
3 below standard of care, is that correct?

4 A That's correct.

5 Q And as I understand it, your first point to be made
6 on that subject is that this was not **an** emergency,
7 life-threatening situation for Mr. Jones, correct?

8 A That's correct.

9 Q Are emergency, life-threatening problems related to
10 the gallbladder **the** only instances in which cholecystectomy
11 **is** undertaken, Doctor?

12 A No, it's not.

13 Q **Was** Mr. Jones at risk for obstruction from the
14 gallstones that he had?

15 A Well, the presence of gallstones in any patient gives
16 us the possibility that they may obstruct.

37 Q Okay. So considering the number and size of
18 gallstones that Mr. Jones had in his gallbladder, obviously
19 he was at some substantial risk?

20 A That's your word now. You have introduced a new
21 word, Mr. **Jones**, which I would take out, delete from your
22 sentence. Substantial.

23 Q Okay. So you don't think he was at any substantial
24 risk for obstruction?

25 A No.

1 Q When Mr. Jones presented at the hospital on October
2 17, 1994 was he exhibiting signs of obstruction?

3 A No.

4 Q How would you interpret the presentation that Mr.
5 Jones had on October 17? What was causing his problems?

6 A He had what is called biliary colic. And the cause
7 of it, of course, is speculated, but it occurs under a
8 variety of circumstances in patients who have stones in the
9 gallbladder. **And** since he had stones in the gallbladder,
10 that's one of those circumstances.

11 Q As a general matter, Doctor, what are the signs and
12 symptoms of biliary colic?

13 A Colic, of course, is pain. And the pain is usually
14 in the epigastrium or right upper quadrant. **And** the nature
15 of the pain is that of a continuous pain on which is
16 superimposed waves of increasing and decreasing intensity.

17 That's why it gets the name colic, because the pain
18 goes up and down, but it doesn't **go** away. And that pain
19 often radiates to the back or to the infra -- the area
20 below the tip of the scapula or to the shoulder. That's a
21 common radiation, but that's not necessary to have -- to
22 make the diagnosis of biliary colic. That's the nature of
23 the pain.

24 Q How about the way the patient looks on presentation?

25 A Oh. I don't think there is a look associated with

1 biliary colic.

2 Q How about lab values?

3 A I said biliary colic occurs under different
4 circumstances in patients who have gallstones, and the lab
5 values in -- if you took the whole population of patients
6 who have biliary colic, the lab values are normal in a
7 substantial number of those patients. But you guys have to
8 tell me what lab values you're talking about.

9 Q I'm just asking if there are any particular lab
10 values that you would see and say, Oh, **well**, that sort of
11 confirms that this is a biliary problem going on.

12 A No. Not so. The lab values are often, not
13 necessarily, but often normal, the lab values that we're
14 both thinking about, I hope.

15 Q We'll get to the specific ones I'm sure eventually,
16 but I'm sure we're talking about the same ones.

17 **Is** there anything else that plays a part in the
18 diagnosis of biliary colic, Doctor?

19 A Well, there are other symptoms often associated **with**
20 biliary colic such as it is quite common for the patient to
21 feel nauseated, to vomit one or more times with biliary
22 colic, to notice that the pain was precipitated by eating
23 and sometimes by eating specific foods such as fried or
24 fatty foods, such as cabbage or onions, all the good things
25 that there are. They precipitate the attacks frequently in

1 patients. There are those associations.

2 Q Okay. Then to contrast that, if you will, with
3 biliary obstruction, Doctor, how does the presentation
4 differ?

5 A There are different forms of biliary obstruction, so
6 we have to focus that question in order for me to give you
7 a rational answer.

8 Q Well, starting with the gallbladder and working down
9 the biliary tree, the various levels that obstruction can
10 occur, why don't you just give me an idea then as to how
11 the patient's presentation may manifest itself and raise
12 the question about biliary obstruction.

13 A Okay. I don't want to -- I want to shorten this as
14 much as I can. Biliary obstruction starts with obstruction
15 of the cystic duct, and some patients who get biliary colic
16 have obstruction of the cystic duct which is intermittent;
17 in other words, the stone doesn't get impacted in the
18 cystic duct, but it obstructs it and then it bounces back
19 up and spontaneously relieves the obstruction. **So** that's
20 one form of obstruction.

21 Q Okay. **Well**, let's start with that form of
22 obstruction. How do those patients typically come in as
23 far as their history, the presenting complaints?

24 A The same way as what we have been talking about.

25 Q So it's very similar to biliary colic?

1 A Well, they have biliary colic. Biliary colic is a
2 form of pain. And they have biliary colic, and that is a
3 common way that they get biliary colic.

4 Q Okay. Well, what additional information can you
5 glean either from the patient's presentation physically or
6 from laboratory testing, radiology studies, et cetera, that
7 will be able to differentiate between a biliary colic
8 without obstruction and a cystic duct intermittent
9 obstruction?

10 A You can't. Cystic duct intermittent obstruction or
11 biliary colic because of an abnormal contraction of the
12 gallbladder related to the presence of stones presents in
13 the same way. They can't be differentiated.

14 Q So there is no lab **work** or radiology studies or
15 anything?

16 A They both have -- you didn't say radiology. But they
17 both have a normal laboratory picture.

18 Q I thought I did mention radiology studies too, but
19 let me add radiology studies.

20 A It's the first time. I would be happy to talk about
21 it. But we were talking about laboratory studies and when
22 you talk about radiology, that's a different sphere.
23 That's not considered laboratory studies.

24 Q Let's talk about radiology studies. Are there any
25 that will assist in the diagnosis of a cystic duct

1 obstruction?

2 A I don't want to confuse the issue, so let me just
3 backtrack for a moment, Mr. Jones.

4 We said that a patient can have biliary colic without
5 having any obstruction at all. We said that a patient can
6 have biliary colic with having intermittent obstruction of
7 the cystic duct by a stone, but the stone is not impacted.
8 We have gotten that far, to those two circumstances. And
9 in those circumstances biliary ultrasound, ultrasonography
10 of the right upper quadrant of the abdomen to include the
11 gallbladder simply shows the gallstones. It does not show
12 any thickening of the gallbladder wall, unless there is
13 some previous reason for the thickening, and it doesn't
14 show any fluid around the gallbladder. It simply shows the
15 echoes that indicate that there are stones present. That's
16 as far as we have come.

17 These are now further degrees of obstruction.

18 Q Okay. Let's just stop right here for now. I want to
19 make sure I completely understand. And that is, in other
20 words, an ultrasound won't show any dilatation of the duct
21 itself, that sort of thing?

22 A No. You didn't don't **see** dilatation of the cystic
23 duct on an ultrasound.

24 Q Okay. However, you could see thickening of the
25 gallbladder **wall**?

- 1 A Not from that episode.
- 2 Q What would cause thickening of the gallbladder wall?
- 3 A Previous inflammation.
- 4 Q What would cause previous inflammation if it wasn't
- 5 some sort of obstruction?
- 6 A Well, now we're moving along to the next level of
- 7 obstruction.
- 8 Q Okay. Well, so just before we move into the next
- 9 level of obstruction, thickening of the gallbladder wall is
- 10 an indication of some sort of obstruction, at least in the
- 11 past?
- 12 A Previous inflammation of the gallbladder.
- 13 Q I'm trying to understand, what are the things that
- 14 can cause inflammation of the gallbladder if it's not an
- 15 obstruction?
- 16 A **Well**, the inflammation of the gallbladder can occur
- 17 in the absence of gallstones. See, the radiologist can't
- 18 make a histologic diagnosis. **All** he can do is report his
- 19 findings and say in a general way what they indicate. When
- 20 he sees thickening of the gallbladder wall he says in his
- 21 report that that indicates previous inflammation, **okay**.
- 22 Q Okay.
- 23 A So that he doesn't attach a histologic or microscopic
- 24 diagnosis to that.
- 25 Q Correct. I understand.

1 A And there is such a thing as calculous
2 cholecystitis. Not germane to this case, but it does
3 occur.

4 Q In a patient who does have numerous gallstones,
5 Doctor, and there is thickening of the gallbladder wall,
6 what are the mechanisms, the possible mechanisms for this
7 thickening of the gallbladder wall in that situation?

8 A Well, putting what is most reasonable together, it
9 would be that the patient has or had had inflammation of
10 the gallbladder wall and that's what led to the thickening.

11 Q And we're not really getting to what I'm trying to
12 get to, and it's probably my fault.

13 A It is entirely your fault.

14 Q I'm sure. I'm trying to understand what are the
15 mechanisms in a patient who does have gallstones that can
16 cause this inflammation that leads to thickening of the
17 gallbladder wall. I mean, infection? Is it obstruction?
18 Is it irritation of the gallbladder wall from the stones?
19 I mean, I'm just trying to understand what causes the
20 thickening of the wall of the gallbladder in a patient with
21 stones.

22 A Okay. So you know, I think that if I can just give
23 you sequence of events, maybe it will be clear. And you
24 stop me if I'm not being clear or if you don't like what
25 I'm telling you.

1 Q Well, I won't stop you if I don't like what I hear
2 from you, Doctor. I'll just stop you if I don't understand
3 you.

4 A That's fine. Either one is okay. The ball is in
5 your court on that.

6 Q All right. **So** go ahead.

7 A But I said that biliary colic -- Biliary colic is a
8 term that describes a type of pain. That's what biliary
9 colic is. Biliary colic occurs when there are stones in
10 the gallbladder when there is no obstruction, no
11 demonstrable obstruction of the cystic duct. That occurs
12 because the contractions of the gallbladder are abnormal as
13 a result of the disease produced by the stones. That's one
14 circumstance. That is not associated with inflammation of
15 the gallbladder wall.

16 A second is when the stone obstructs the cystic duct,
17 but does so intermittently; in other words, isn't impacted
18 in the cystic duct. And that situation may be associated
19 with some inflammation of the gallbladder wall and it
20 produces colic again, the pain again, but it relieves
21 itself spontaneously.

22 A third degree of what we're talking about is when
23 the stone gets stuck in the cystic duct, and that produces
24 really complete obstruction of the outlet **of** the
25 gallbladder. And that circumstance is associated with

1 inflammation of the gallbladder wall, and that inflammation
2 results usually initially from the chemical contents of the
3 bile that is there, but bacteria maybe superimposed to it
4 initially or **at** some point. **So** it starts out as a chemical
5 inflammation and it goes on to become a bacterial
6 inflammation.

7 And that circumstance, which is called acute
8 cholecystitis, is associated with thickening of the
9 gallbladder **wall**, pain, is seen on the ultrasonography,
10 also seen at operation and by the pathologist, **and** with
11 something called pericholecystic fluid. That's
12 inflammatory fluid around the gallbladder.

13 And it is associated with physical signs that are
14 quite different from what we talked about thus far, because
15 we now have an inflammation of the gallbladder, and that
16 produces tenderness, guarding or rigidity in the right
17 upper quadrant of the muscles. It produces often a mass.
18 That obstructed gallbladder is thickened, is enlarged, and
19 because it's inflamed, the surrounding tissues come up
20 against it and stick to it and produce a palpable tender
21 mass in the right upper quadrant. It's associated with
22 fever, an elevated white count, sometimes because of its
23 closeness to the liver with liver function abnormalities.
24 So liver function tests become abnormal; not highly
25 abnormal, but they become abnormal. That's a very

1 different picture from what we have been talking about thus
2 far. That is acute cholecystitis. Okay so far?

3 Q You're doing great, Doctor. I haven't had to stop
4 you once.

5 A I'm proud of that record. I'll tell my students
6 about that.

7 Then, of course, you go down the line, and down the
8 line is down into the common bile duct. And there if a
9 stone enters into the common bile duct, it produces
10 obstruction of the common bile duct. Often on ultrasound
11 one can't really see that because the ultrasound isn't
12 sufficiently sensitive, but there are other ways, imaging
13 ways of demonstrating that.

14 But it produces a condition known as obstructive
15 jaundice, and in that condition the bilirubin goes up
16 substantially, along with the alkaline phosphatase, GDDP.
17 That's another enzyme that reflects obstruction. And if
18 the fluid that is in the common bile duct, the bile that's
19 there has bacteria in it, it gives rise to something called
20 acute cholangitis in which there is fever, there is
21 sometimes some swelling of the liver, there **is** a marked
22 elevation of the white count and a degree of illness that
23 exceeds what we have been talking about thus far. **So** those
24 are various degrees and sites of obstruction in patients
25 who have gallstones.

- 1 Q Can patients pass gallstones?
- 2 A Oh, yes. They pass gallstones all the time.
- 3 Q Can patients in passing gallstones experience biliary
- 4 colic?
- 5 A Yes, they can experience biliary colic.
- 6 Q Can they **also** --
- 7 A Because just as the gallbladder is responsible for
- 8 pain, the common bile duct, similarly, is responsible for
- 9 pain.
- 10 Q Okay. Can they also while they're passing the stone
- 11 have indications of cystic duct obstruction?
- 12 a No. The two -- Those two circumstances don't go hand
- 13 in hand.
- 14 Q Okay. Can they have some of the indications *of* a
- 15 common bile duct obstruction while they're passing a stone?
- 16 A If the stone gets into the common bile duct, of
- 17 course, there is always the potential that: it will lodge in
- 18 the bottom part of the common bile duct and produce
- 19 obstruction. It doesn't necessarily do that. Sometimes it
- 20 passes through without producing **any** significant or
- 21 clinically detectable obstruction, but it can lodge there
- 22 in the distal end of the common bile duct where it narrows
- 23 and it can produce obstruction of the common bile duct and
- 24 jaundice.
- 25 Q Now, does a gallstone have to be a particular minimum

1 size to cause obstruction, Doctor?

2 A Obstruction of what?

3 Q Well, let's start with the cystic duct.

4 A Well, like everything else, the obstruction depends
5 upon the size of the stone and the size of the cystic
6 duct. Some people have tiny cystic ducts that won't
7 accommodate the passage of a stone. Some people have large
8 cystic ducts that readily accommodate the passage of a
9 stone without becoming obstructed. So it's really the
10 relationship between the size of the stone and the size of
11 the cystic duct that determines what will occur. There
12 isn't a fixed size that will say that one is going to
13 obstruct.

14 And interestingly, just as an aside, patients 'chat
15 have a solitary, single stone in the gallbladder, a large
16 single stone in the gallbladder frequently have no symptoms
17 at all and never obstruct their cystic duct.

18 a Okay. Doctor, in Mr. Jones' case you have already
19 indicated that he had a presentation consistent with
20 biliary colic on October 17, 1994, correct?

21 A That's correct.

22 Q Now, on his presentation do you also agree that there
23 was some indication of biliary obstruction?

24 A No, I don't agree with that.

25 Q Well, as we went through this, as I understood it,

1 biliary obstruction has essentially -- this intermittent
2 cystic duct obstruction has the same sort of presentation
3 as a biliary colic, or did I miss something?

4 A The thing I'm really struggling to achieve, and I
5 think if I achieve this I will really have accomplished
6 something, is to separate biliary colic, the term biliary
7 colic from the other thoughts you have about his
8 circumstances.

9 Biliary colic refers to a type of pain. That is it,
10 plain and simple. It is not a syndrome. It is a type of
11 pain.

12 Now, if we *go* back to where we started, the first
13 degree I said was patients who have biliary colic who do
14 not have cystic duct obstruction. The second degree was
15 patients who have biliary colic, the same pain, but who
16 have intermittent cystic duct obstruction. The third
17 degree were patients who have biliary colic and have a
18 stone impacted in the cystic duct, and those are the ones
19 who develop acute cholecystitis.

20 So what I'm saying to you now is I can't tell whether
21 Mr. Jones had cystic duct obstruction or did not have it.
22 He could have had the first degree that I've talked about
23 or the second degree that I've talked about. What I know
24 he didn't have was acute cholecystitis, which is the third
25 degree that I talked about where a stone is impacted in the

1 cystic duct.

2 Q And how do you know he didn't have that, Doctor?

3 What's the basis of saying that you know he didn't have the
4 third degree, the acute cholecystitis?

5 a Acute cholecystitis is associated with fever, an
6 elevation of the white count, guarding on the right upper
7 quadrant:. When you push in the right upper quadrant the
8 patient's abdomen isn't soft. He contracts his muscles to
9 protect himself. That's called guarding or rigidity. Or
10 there's peritoneal signs in the right upper quadrant, and
11 often, not always, with the detection of a mass, which is
12 the tense distended gallbladder inflamed with the
13 surrounding tissues adherent to it such as omentum which
14 presents as a mass that can be palpated on physical
15 examination.

16 He had none of those things. He had normal
17 temperature. He had a normal white count. He didn't have
18 any guarding or rigidity in his right upper quadrant. He
19 didn't have a mass in his right upper quadrant. He had
20 none of the manifestations of acute cholecystitis on
21 admission or at any time in his course.

22 Q With biliary colic without obstruction, Doctor, do
23 you get elevations in the liver function tests?

24 A Elevations in the liver function tests occur from a
25 number of different reasons. Mild elevations of the liver

1 function tests -- and I will just point out to you if
2 you'll read the record, you will find that Mr. Jones during
3 his admission for congestive heart failure had elevations
4 of his liver function test, some of which were even greater
5 than the mild elevations he had on this admission.

6 And just so we don't beat around the bush, patients
7 in congestive heart failure in this extreme form develop a
8 condition called cardiac cirrhosis. It's actually
9 cirrhosis of the liver from the chronic congestion of the
10 liver associated with right heart failure. And in lesser
11 degrees when it doesn't get to cirrhosis they develop
12 abnormalities with the liver function tests. And he
13 certainly has had that intermittently over a period of
14 years.

15 Q He's been admitted with acute episodes of congestive
16 heart failure, correct?

17 A Right. Right.

18 Q And it's your opinion that on October 17, 1994 he
19 presented with another episode of acute cardiac or
20 congestive heart failure?

21 A No. No, it's not my opinion. But you see these
22 things take their toll. What I said to start with was the
23 extreme form. If they develop cirrhosis, they have
24 repeated damage to their liver and scarring of their liver
25 and abnormalities of liver function. If congestive heart

1 failure occurs enough times or is persistent enough over a
2 period of time, it leads to liver function abnormalities
3 even when the patient is out of congestive heart failure.

4 Q Is it your opinion, Doctor, that to a reasonable
5 medical certainty Mr. Jones did have cardiac cirrhosis of
6 the liver?

7 A I don't think so. I said that's the extreme form.

8 Q Okay. So you don't think he got to that point?

9 A No, I don't think he got to that point. We don't
10 have a biopsy of his liver to know that, but I don't think
11 he got to that point.

12 Q Is it your opinion, Doctor, that years of episodes of
13 acute congestive heart failure caused damage to the liver
14 with persistent elevations in the liver function tests even
15 when the patient isn't in acute congestive heart failure?

16 A He isn't in acute congestive heart failure for sure.
17 He doesn't have to be in acute congestive heart failure.
18 But what I'm really saying is the mild elevations of the
19 liver function tests that he had cannot be pinned on the
20 stones in his gallbladder. There is no necessary
21 relationship of those things. And, in fact, I seriously
22 doubt that they had anything to do with the stones in his
23 gallbladder.

24 Q Okay. Now, without looking at this retrospectively,
25 Doctor, do you think that it's reasonable to question

1 whether the elevations in liver function tests in October
2 of 1994 when Mr. Jones was in the hospital before surgery
3 could be the result of some either obstruction or
4 intermittent obstruction of the biliary tract?

5 A Well, you know, I think it certainly is possible to
6 raise that question. I don't think that's an unreasonable
7 question. But I think if one reads the record, one quickly
8 disabuses oneself of that notion if one finds out about his
9 previous admissions or talks with his doctor.

10 The doctor, the primary care physician who has seen
11 him through 11 or 12 admissions and umpteen office visits
12 and knows him very well, to talk with him and ask him about
13 these things, certainly he would know about these things.
14 And I would expect he would say, Oh, well, he's had that
15 for a long time. In fact, they have been higher before.
16 His alkaline phosphatase on some of his previous admissions
17 was up to 170, and, you know, higher than it was on this
18 admission. So that's not --

19 a Is jaundice associated with biliary colic, Doctor?

20 A Biliary colic is a pain.

21 Q Okay. But I'm just saying, patients who come in with
22 biliary colic without obstruction, do they also present
23 with jaundice on occasion?

24 A Well, biliary colic if it's due to certain forms of
25 gallbladder disease can present with jaundice. Congestive

1 heart failure or the results of congestive heart failure
2 can do the same thing; mild elevations of the bilirubin.

3 Mr. Jones had a very **small** elevation of his
4 bilirubin, which I should point out to you if you look at
5 the last study that was done before the operation on the
6 morning of the operation was back down to where it had been
7 to start with.

8 Q So the improving bilirubin in your estimation,
9 Doctor, indicates that Mr. **Jones** had become more stable
10 prior to his surgery?

11 A I wouldn't give it any weight, stable or unstable. I
12 think the small variations in his bilirubin are nothing
13 that one could hang one's hat on.

14 Q What about his presentation with scleral icterus?

15 A Well, scleral icterus -- I should say that I'm not
16 sure he had scleral icterus. You know, if you look at
17 African Americans and look at their eyes, as I do all the
18 time, I should tell you, it's often easy to confuse
19 scleral icterus with the kind of what we call muddy scleras
20 as seen in African Americans. And at the bilirubin level
21 which he presented, which there was a note that he had
22 scleral icterus, I think only a very keen and experienced
23 observer would pick up jaundice at that bilirubin level.

24 Q Have you ever noted anywhere else that any other
25 physician indicated that there was a question about

1 scleral icterus on any of the numerous times Mr. Jones had
2 been seen in the hospital?

3 A Frankly, I didn't look for that, so I can't answer
4 that question.

5 Q Is it possible to have scleral icterus even with this
6 low level of bilirubin as seen on the laboratory results?

7 A Well, I would defy any member of the staff of the
8 Meridia Huron Hospital to pick up scleral icterus at a
9 bilirubin of 2.1. You know, this is an area where I've
10 had, I have to say with **all** due modesty, extensive
11 experience. And picking up scleral icterus at a bilirubin
12 of 2.1, I can't tell you it's impossible, but it's very
13 close to being impossible, I can't detect it as a
14 bilirubin of 2.1 and I've seen -- literally seen thousands
15 of patients with liver disease.

16 Q Bear with me, Doctor.

17 A Certainly.

18 Q Doctor, from your experience with patients with
19 gallstone disease similar to the situation that Mr. Jones
20 had as far **as** the number of stones, size of stones, et
21 cetera, is there any way to quantitate their risk for
22 developing a biliary obstruction?

23 A I don't know of any way.

24 Q Doctor, are you familiar with the admission at
25 Bedford Community Hospital on October 7 and 8?

1 A Give me just one minute please, Mr. Jones.

2 Q Sure.

3 A You know, I don't have a record of that. I have seen
4 the references to that; to wit, I've seen the reference to
5 it in the E.R. nurse's note when he presented, without
6 dating it, that he was in Bedford Community Hospital a
7 short while ago with complaints of abdominal pain. And
8 just off the top of my head I recall another reference to
9 that, that he was in and out of Bedford Community Hospital
10 in the time frame of which you're talking with abdominal
11 pain, but I have actually not seen a copy of that
12 admission.

13 Q So of the dozen or so admissions that you have been
14 provided with, an admission within ten days of October 17,
15 1994 was not provided to you by Mr. Allen or the Keenan law
16 firm?

17 A I do not find that, that's correct.

18 MR. JONES: Do you have a copy of it
19 there, Charles?

20 MR. ALLEN: I think I got it out in
21 the car. Do you want to take a five-minute
22 break?

23 MR. JONES: Why don't we take a
24 five-minute and break see if you can find
25 it.

1 (Recess was had.)

2 Q All right, Doctor.

3 A Yes.

4 Q Let me just ask you questions then since you don't
5 have this record.

6 A I don't have the record. I have two references to
7 it, and I'm happy to read you what the references state.

8 Q Well, where are the references you're referring to?

9 A I'm sorry?

10 Q Where are these references that you are familiar
11 with?

12 A They are the E.R. admission, the E.R. nurse's note
13 and the E.R. physician's note on his E.R. admission and the
14 subsequent hospital admission, 10-17-94 at Meridia Huron
15 Hospital.

16 Q And what is the reference?

17 A The E.R. nurse's note says, Complaints of abdominal
18 pain. Same as in Bedford Community Hospital short while
19 ago. **Also** complaints of headache. And the E.R.
20 physician's note states, Was admitted overnight at BCC.
21 ?Diagnosis. Given Compazine pills for nausea.

22 Q Are those the only references that you're aware of in
23 the records that you have reviewed, Doctor?

24 A Yes, they are the only references.

25 Q And Doctor, when you read those references, in going

1 through this chart were you curious as to why you did not
2 receive the actual records for that admission?

3 A I can't really remember what my state of mind was.

4 Q However, whatever your state of mind was, you never
5 asked for a copy of that admission when you realized you
6 didn't have it, correct?

7 A That is correct.

8 Q Doctor, patients who have -- Let me see where I go
9 back on **my** notes here.

10 Well, nausea and vomiting, Doctor, would be
11 consistent with obstruction or just biliary colic without
12 obstruction, correct?

13 A That's correct.

14 Q And would this nausea and vomiting be different in
15 any degree depending upon whether there has been just a
16 biliary colic without obstruction as opposed to an
17 obstruction?

18 A **As** opposed to the grade 2 that I talked about, the
19 intermittent obstruction?

20 a Correct.

21 A No, it wouldn't be any different.

22 Q Would it be any different with a grade 3 obstruction?

23 A As I indicated, acute cholecystitis is a more severe,
24 more persistent disease, and the symptoms are much more
25 persistent.

1 Q Are there any changes in bowel habits with patients
2 who have biliary colic or an obstruction of the biliary
3 tract?

4 A Not consistent changes in bowel habits.

5 Q By that you mean what, Doctor?

6 A Well, you know, some patients say they have
7 diarrhea. That's not a characteristic symptom. Some
8 patients say they have constipation. That's not a
9 characteristic symptom. So there isn't a consistent
10 pattern of change in bowel habit in patients who have
11 biliary colic.

12 Q Doctor, what significance if any is there of spillage
13 of bilirubin into the urine in evaluating a patient with at
14 least biliary colic?

15 A Well, you know, when the bilirubin is elevated in the
16 blood it spills in the urine. The urine tests are quite
17 inaccurate and not very sensitive and rarely used anymore
18 in the analysis of patients who have biliary disease and
19 have jaundice.

20 Q Does the bilirubin necessarily have to get to a
21 particular level in the blood before it begins to appear or
22 be detected on a urinalysis?

23 A NO.

24 Q Now, Doctor, you mention in your report the fact that
25 Mr. Jones had 13 previous hospital admissions before

1 October of '94 for various problems over three and a
2 quarter years and that this put him at very high risk. So
3 I want to **look** at that for a minute, okay. It puts him at
4 high risk for what?

5 A For having a cardiac arrest, as he did, and becoming
6 a vegetable, as he did.

7 Q Does it also put him at higher risk of returning to
8 the hospital in even worse condition than he was in in
9 October of **1994**

10 A No. The previous admissions don't put him at high
11 risk for that. It all depends upon the control of the
12 things that he was admitted for.

13 Q **And** when you say control, what are you referring to
14 specifically?

15 A Well, he had, you know, he had severe hypertension,
16 often uncontrolled hypertension. He had repeated bouts of
17 congestive heart failure. He had cardiomyopathy. He had
18 sleep apnea. As I say, I'm just reading what I stated. He
19 had chronic edema of his lower extremities. Those are the
20 things I'm talking about.

21 Q And in a patient who is non-compliant, as you'll
22 acknowledge Mr. Jones was, correct?

23 A Yes.

24 Q These conditions combined with his non-compliance put
25 him at substantial risk for re-hospitalization at an even

1 worse condition than he was in in October of 1994, correct?

2 A No, that's not correct. Non-compliance is not a
3 permanent state of -- a permanent condition. Patients who
4 are non-compliant may become more compliant or may become
5 completely compliant. The objective, of course, is to put
6 such a patient under tight medical management to control
7 his hypertension and his heart failure, to investigate and
8 control his sleep apnea and his repeated pulmonary
9 difficulties, and that is, of course, within the realm of
10 distinct possibility.

11 Q Doctor, how many years had Mr. Jones been ill with
12 his heart condition?

13 A He was first diagnosed as having congestive -- of
14 having hypertension at the age of 21 in 1982. He was first
15 diagnosed as having congestive heart failure due to some
16 form of cardiomyopathy in 1987 at the age of 26. He was
17 first diagnosed as having sleep apnea in 1992, and he had
18 been morbidly obese for a considerable period of time.

19 Q Are the records replete, Doctor, with indications
20 that Mr. Jones was non-compliant and was encouraged to
21 become a compliant patient with his diet, his medication
22 and other aspects of his health?

23 A Yes.

24 Q Is there any indication, Doctor, over that entire
25 period of time, from the early '80s until his admission in

1 October 1994, where Mr. Jones gave any indication that he
2 was going to become a compliant patient in any aspect of
3 his health care?

4 MR. ALLEN: Object to the form of the
5 question.

6 A There were periods of time when he was quite
7 compliant.

8 Q Can **you** give me --

9 A And, you know, he returned to being non-compliant.
10 But there were periods of time when he really was doing
11 well and then --

12 Q Could you give me an indication, Doctor, when was the
13 last time before 1994 where he went any period of time
14 where you would consider him to have been a compliant
15 patient?

16 A **Okay.** I have to look at that. If one **looks** at the
17 period between **1-15-93** and 5-27-93, a period of
18 approximately four months, during that period of time he
19 was quite compliant. He had made five visits to **Dr.** -- if
20 I mispronounce his name, correct me, please, is it Azem or
21 Azem. Do you know how to pronounce it, Mr. Jones?

22 Q No, I don't.

23 A May I **ask** Charles? He's an authority on
24 pronunciations with that southern accent of his.

25 MR. ALLEN: **Azem.**

1 THE WITNESS: Are you sure?

2 MR. ALLEN: Yeah.

3 A He says Azem. Well, during those visits to Dr. Azem
4 he was quite compliant.

5 Q For a period of about four months?

6 A That's correct.

7 Q And based upon this history you think there was a
8 substantial likelihood that Mr. Jones would become a
9 compliant patient after October 1994 if other avenues of
10 therapy for his conditions had been taken?

11 A Mr. Jones, you're changing what I said.

12 Q Oh. I don't mean to.

13 A You have this tendency to put in the word substantial
14 and I didn't say substantial. I said there was a
15 possibility that he could become compliant.

16 Q In your experience with patients such as Mr. Jones,
17 which I'm sure you have had experience with such
18 non-compliant patients, correct?

19 A I've had more experience than you'll ever dream of.

20 Q More than you would ever want, I bet.

21 A You know, the thing that I'm most known for
22 throughout the world is the treatment of alcohol
23 cirrhosis. And I have a 97 percent follow-up rate in ten
24 years. That means almost: every patient that I treat with
25 that disease is still seeing me after ten years.

1 And if you don't think the treatment of -- well, I
2 know you know the treatment of chronic alcoholism and its
3 associated consequences is a very, very tough go, and we
4 have the highest abstinence rate in the world. It is 69
5 percent in ten years. AA, Alcoholics Anonymous, has an
6 abstinence rate of **24** percent in five years.

7 So with the determination and careful management and
8 an absolute willingness to really take care of sick people
9 and **see** them closely, it is possible to achieve compliance
10 in patients who have never been compliant in their life.
11 So that's why I say it's possible.

12 Q Doctor, could you give me an opinion from your
13 experience with patients such as Mr. Sones whether it's
14 probable that he would have become a -- or he would have
15 become a compliant patient?

16 A No. I would not be willing to put a percentage on
17 it.

18 Q And compliance versus non-compliance in patients when
19 you're considering surgical therapies as opposed to
20 non-surgical therapies that require the patient's ongoing
21 commitment to treatment, what part does that play; that is,
22 does the non-compliance play in your thinking as the
23 physician considering various options of therapy?

24 A I think it plays a role. I think one has to factor
25 that into the equation of risks versus benefits.

1 surgery versus non-surgical approach is appropriate?

2 A It depends upon the non-surgical approach that one
3 would use. But if we're talking about long-term therapy
4 that requires his taking medicine, for example, it plays
5 into the same kind of equation that non-compliance plays
6 into.

7 Q I see. **So** the history **of** depression would mitigate
8 against long-term medical therapies and favor, to some
9 degree, surgical intervention?

10 A I wouldn't put it that way. You know, I wouldn't use
11 the term mitigate against. It would decrease the chances
12 of success with long-term therapy that requires a patient
13 to take medicine, for example.

14 Q Okay. **So** the history of depression, the suicide
15 gestures or attempts, whatever that was, and the history of
16 non-compliance **would** make long-term medical therapy a more
17 difficult proposition for Mr. Jones, correct?

18 A And for people who didn't have those complicating
19 attributes, yes.

20 Q Okay.

21 A But, you know, we're not talking about a permanent
22 state. Those things are not congenital or genetic, so all
23 of those things are changeable.

24 Q I'll only raise it because you raised it in your
25 report, Doctor.

1 A Yes.

2 Q Percutaneous drainage of the gallbladder, Doctor --
3 we're going to finally get at some of these options,
4 Doctor, that you have been chomping at the bit to get to,
5 all right?

6 A Pine.

7 Q I'm going to skip the low fat diet kind of thing
8 because that fits into our discussion regarding
9 non-compliance and so forth. Percutaneous drainage of the
10 gallbladder, Doctor, what are the indications for that
11 procedure as opposed to cholecystectomy for a patient with
12 gallbladder disease?

13 A Percutaneous drainage under local anesthesia by an
14 interventional radiologist, that as I indicated in my own
15 practice is used in high risk patients, patients who are
16 poor surgical risks such as Mr. Jones.

17 Q And what is the success rate of percutaneous drainage
18 in these types of patients?

19 A It has a high success rate initially. The long-term
20 success rate, which, of course, is another way of saying
21 whether the stones will recur or not, the long-term success
22 rate is not known with the latest dissolution agents, but
23 the immediate success rate is high.

24 Q In the order of what percentage?

25 A In the order of 80 percent.

1 Q If there is a recurrence, can further percutaneous
2 drainage be done or is this sort of a one-shot deal and if
3 there **is** a recurrence, the patient has to have a
4 cholecystectomy?

5 A No. It should be done again.

6 Q Well, can you explain to me, Doctor, why if this is
7 such an easy procedure with such low morbidity and
8 mortality that this is not essentially the standard of care
9 for all patients with gallbladder disease?

10 A Well, you just said something that I didn't say.

11 Q Oh, okay.

12 A But I'm going to -- It happens to be something I
13 agree with, which is low morbidity and mortality. And
14 those things are true. But the key, of course, is the
15 recurrence rate of the stones, and that has not been
16 defined.

17 And with cholecystectomy, of course the problem
18 settles in one fell swoop. It's over and done. And
19 whereas with this there is this uncertainty about
20 recurrence. **So** that's the reason why it has not been
21 adopted as the standard of ordinary care.

22 Q What are the risks of percutaneous drainage?

23 A Well, there are some small risks associated with not
24 puncturing the gallbladder, puncturing surrounding organs,
25 technical complications associated with the insertion of

1 the tube into the gallbladder. They're very small in
2 number, but those are some of the risks associated with
3 it. Most of the risks are technical risks,
4 procedure-associated risks, and they're small.

5 Q On the order of what percentage, Doctor?

6 A Less than 5 percent.

7 Q Was Dewey Jones a candidate for percutaneous drainage
8 of the gallbladder when he was in the hospital in October
9 1994?

10 A Yes.

11 Q In your report you have got what you describe as
12 surgical cholecystectomy.

13 A No.

14 Q I think that's a misstatement, isn't it?

15 A It is. That's a typo.

16 Q Yes, I thought it was.

17 A Cystostomy.

18 Q I'm sorry, Doctor?

19 A Cholecystostomy.

20 Q The one I mispronounced before?

21 A Cystostomy. In item B and C the term
22 cholecystectomy, that's a typo. That should be
23 cholecystostomy.

24 Q Okay. That's the same procedure as percutaneous
25 except it's an open procedure?

1 A Yes. Well, it is open, but it's a little open
2 procedure.

3 Q And what would mitigate doin'g that procedure as
4 opposed to a percutaneous drainage of the gallbladder?

5 A It's another alternative way. It was around long
6 before the percutaneous drainage came along. It is another
7 way of doing it.

8 Percutaneous drainage by the radiologist requires a
9 skillful interventional radiologist. I don't know if
10 that's available at Meridia Huron Hospital. So it's
11 another alternative.

12 Q And both percutaneous drainage and surgical drainage
13 of the gallbladder are done under a local?

14 A Yeah, it's done under local anesthesia.

15 Q Was Mr. Jones a candidate for surgical
16 cholecystostomy?

17 A Sure.

18 Q Ultrasonic lithotripsy, which is the last of the
19 alternatives to therapy for Mr. Jones that you list; in your
20 report --

21 A Yes.

22 Q -- what are the indications for that as opposed to
23 doing a percutaneous or surgical drainage of the
24 gallbladder?

25 A Well, of course, there is no -- it's a totally

1 non-invasive procedure. There is no incision. There is no
2 injection. There is no stick of any kind. One doesn't
3 have to precisely locate the gallbladder. So it's a
4 totally non-invasive procedure.

5 Q What are the risks?

6 A The risks are, again, very **small**. They have to do
7 with breaking up the stones into fragments that pass and
8 one of the fragments getting caught and obstructing the
9 common bile duct. That's a very small risk, but that can
10 occur.

11 Q What's the rate of success?

12 A Rate of success is actually quite high. It's in the
13 neighborhood of 60 to 80 percent.

14 Q **And** how long has that success rate been accomplished
15 with ultrasonic lithotripsy?

16 A Well, it's been around now for a period of about ten
17 years. **And** it's widely used in Europe, particularly in
18 Germany, but in other parts of Europe. **And** its use in --
19 as we discussed some time ago, its use in the United States
20 has declined because it has been replaced by laparoscopic
21 cholecystectomy. So it is used less commonly, and largely
22 for patients who are poor operative risks.

23 Q I would expect that it's not a favored therapy
24 primarily because of the incidence of recurrence then,
25 Doctor?

1 A Yeah. That, of course, is the other question.

2 Again, that is a long-term question. But there is an
3 incidence of recurrence with that after some years because
4 one doesn't solve the fundamental problem of removing the
5 gallbladder.

6 Q Was Dewey Jones a candidate for ultrasonic
7 lithotripsy in October of 1994?

8 A Yes, he was.

9 Q Okay. So he was a candidate for all therapies except
10 laparoscopic or surgical open cholecystectomy?

11 A At the time of the admission?

12 Q Correct.

13 A That's right.

14 Q All right. And as I understand it, the reason
15 cholecystectomy was not an appropriate approach for Mr.
16 Jones was because of what you feel was his unacceptable
17 high risks to undergo a general anesthetic for the period
18 of time that would be required by either a laparoscopic or
19 open cholecystectomy?

20 A Right. I would package the whole thing together, to
21 undergo a major operation under general anesthesia.

22 Q I'm not sure how that differed from what I said.

23 A Well, you left out the operation. You seemed to
24 imply, at least I understood you to imply that the risk was
25 involved with putting him under general anesthesia, and I'm

1 just emphasizing that the surgery itself carries with it
2 the risks.

3 Q But the actual risk intendant to the cutting of
4 tissue and **so** forth is not the primary risk for a patient
5 such **as Mr.** Jones, is it?

6 A I don't separate it into primary and secondary. What
7 happens when you cut a big blood vessel, as occurs in an
8 open cholecystectomy, the patient loses **a** liter of blood
9 and becomes hypotensive during the course of your cutting.
10 So, you know, an otherwise healthy person can withstand
11 that variety of loss of bleeding. A patient such **as Mr.**
12 Jones might die right there on the table in such an event.
13 **So** the operation itself is not some trivial event in this
14 whole undertaking. It's the whole thing that carries the
15 risk.

16 Q In your appreciation of what happened
17 intraoperatively as far as the surgery itself, putting
18 aside anesthesia issues for the moment, Doctor, was there
19 **any** indication of any unusual events or untoward events
20 during the actual procedure itself?

21 A I have to answer that without just a yes or no. The
22 dictated operative note said that the conduct of the
23 operation was appropriate and without complications. The
24 loss of 400 cc's of blood in a straightforward open
25 cholecystectomy is substantial, to borrow **a** term, but I

1 wouldn't put it outside of the standard of care. Because
2 if one looks at the anesthetic record, he became seriously
3 hypotensive at an early point in the procedure.

4 Q When was that, Doctor?

5 A If we could look at the anesthetic record. Do you
6 know where that is?

7 Q Sure.

8 A It occurred -- It occurred at what seems to be about
9 10:45 a.m.

10 Q Looks like a little bit more than 10:30 to me,
11 Doctor, but --

12 A Yeah. E said 10:45. I'm sorry. Correction. Let me
13 say that again. It's sometime between 10:15 and 10:30.
14 But it actually starts on down at about 10:10 and goes all
15 -- reaches its lowest point at what is approximately 10 --
16 I would call it 10:20 or 10:25.

17 Q And what in your opinion, Doctor, is the cause of
18 that decrease in the blood pressure?

19 A Well, I don't know.

20 Q What significance does it play in your opinions as to
21 how this operation went as far as the operative procedure
22 itself from Dr. Badri's situation as the general surgeon
23 performing the surgery?

24 A It's a very serious event, a very serious event in
25 this patient. And it wasn't just a momentary event, if one

1 looks at that record. You know, it's some time before it
2 returns to the level where it started. And I can't, you
3 know, I can't relate it to a specific event here. I can't
4 even tell you what the cause of it was, other than to say
5 it was very serious.

6 Q Doctor, at the time of that hypotensive episode
7 during the procedure do you know if the procedure had
8 actually even started?

9 A Let me just check on that. You see where it -- You
10 have that before you?

11 Q Sure do.

12 A See where it says number 1?

13 Q Sure do.

14 A That was where the incision was made, okay. And so
15 it looks as if that reached its lowest point just before
16 the incision was made and then continued on after the
17 incision was made for -- actually for some time.

18 Q Now having clarified that, does that bear any
19 significance in your mind other than to say that this was a
20 very serious episode of hypotension in your opinion?

21 A Well, I think the latter is the critical conclusion
22 about it.

23 Q I'm not sure what the latter is referring to, Doctor.

24 A That it was a very serious event in this specific
25 patient. That in actual fact he had the incision made when

1 he was hypotensive, and that is a serious event. That's
2 not a description of causation.

3 Q Okay. Is there anything else that you can refer to,
4 Doctor, that raises any suspicions in your mind that any
5 untoward event occurred during the actual surgery itself,
6 not during the anesthesia time, but actually during the
7 operation itself?

8 A You mean during the course of the surgery? **Is** that
9 what you mean by that question?

10 Q Yes.

11 A The hypotension is not something that was just
12 momentary. It was, in fact, repeated, And that is the
13 only thing that I could find in this case **as** far as during
14 the course of the operation.

15 Q Okay. This episode of hypotension as you describe it
16 from the anesthesia record, Doctor, would you agree with me
17 that you would have to refer to a well qualified
18 anesthesiologist as to the cause of that hypotension?

19 A **Well**, I would be interested in a well qualified
20 anesthesiologist's opinion about what caused it.

21 Q And its significance during this procedure, correct?

22 A Significance is something I'm fully prepared to talk
23 about.

24 Q But that's just it. I'm not exactly sure what you
25 feel the significance of this hypotension was during **the**

1 procedure itself, what it caused.

2 A Well, for a man who normally has hypertension with a
3 substantially higher blood pressure and when he walks
4 around he's used to that higher blood pressure, in fact, he
5 enters the hospital with a much higher blood pressure, and
6 you subject him to a period of hypotension in someone who
7 has heart disease, cardiomyopathy, that is an obstructive
8 kind of event that may seriously effect his cardiac
9 function, might damage his heart, in other words, and may
10 be an event that, in fact, leads to cardiac arrest at some
11 point down the line or during that period. And those are
12 things that I'm prepared to comment on.

13 Q Okay. **Are** you prepared, Doctor, and do you feel
14 qualified to give an opinion to a reasonable medical
15 certainty that this period of hypotension that you refer to
16 from the anesthesia records actually played any part in the
17 subsequent problems that Mr. Jones had after the surgery?

18 A Well, that -- You see, I don't think anybody **can** say
19 what part it played. All one can do is say that it is a
20 very serious event that one really tries to avoid in a
21 patient such as this because of its serious consequences.

22 Q But as far as the actual consequences, if any, caused
23 by this period of hypotension in Dewey Jones' case, you're
24 not in a position to state what consequences were the
25 probable result of this episode of hypotension?

1 A No. I don't think that can be stated.

2 Q Doctor, is it appropriate for the surgeon who may be
3 considering a cholecystectomy in a patient such as Mr.
4 Jones with his prior medical history to obtain medical
5 clearance for the surgery?

6 A I wouldn't use the term clearance. It's appropriate
7 for him to obtain the opinion of an expert who deals with
8 the diseases which the patient has prior to operation.

9 Q Well, is it inappropriate to start with an internist
10 to evaluate the patient and give any direction as far as
11 what additional input may be necessary from other
12 specialists?

13 A Well, I think the patient so obviously needs a
14 cardiologist, that's so unmistakable. But it's okay to
15 invite -- to ask the opinion of an internist, but it is the
16 responsibility of the surgeon to obtain appropriate
17 consultation, and that appropriate consultation includes a
18 cardiologist.

19 Q Any other consultations that you feel are required
20 for this patient prior to having him undergo a
21 cholecystectomy?

22 A I think that the most important one would be the
23 cardiologist, but I do think that it would be very
24 important to have him thoroughly investigated by a
25 pulmonologist.

1 Q Anyone else?

2 A I think that would be -- those are the appropriate
3 consultants.

4 a Okay. You're aware of the fact that the patient was,
5 in fact, seen by a pulmonologist, correct?

6 A I don't think the patient was seen by an attending
7 pulmonologist. I think the patient was seen by a resident
8 or a fellow on the pulmonary service on the day before the
9 operation.

10 Q Doctor, I thought you had read the deposition of Dr.
11 O'Neil?

12 A I did.

13 Q And from your review of that deposition it was your
14 impression that she was a resident or a fellow?

15 A Oh, no. No. I was quite clear on that. She was not
16 a resident. But she clearly stated that she didn't see the
17 patient for the operation. That, in fact, the first time
18 she saw the patient was in the SICU for -- at least it was
19 after the event had occurred, the cardiac arrest had
20 occurred. I said SICU. I misspoke. I meant ICU. More
21 specifically, it was after the patient had had a cardiac
22 arrest. And she did say in her deposition that it was a
23 resident or fellow, I can't remember, his name was Dr.
24 Cacciatore or something similar to that, and I don't mean
25 to do him a disservice by mispronouncing his name, but it

1 was a name similar to that who saw the patient.

2 MR. JONES: Give me half a second,
3 would you, Doctor?

4 THE WITNESS: Sure.

5 (Recess was had.)

6 Q Okay. Doctor, I had to just look around for
7 something here. Are you aware of the fact that there was a
8 request for a pulmonology consultation on this patient
9 prior to surgery?

10 A Yes, I was. I am aware that that request was made.

11 Q And would it be your understanding, Doctor, that a
12 request for a pulmonary consultation from the physicians in
13 this case would require that a pulmonologist, an attending
14 pulmonologist see the patient prior to the procedure?

15 A Well, isn't that what pulmonary consultation is?

16 Q That would be my understanding. But I just want to
17 make sure that somebody who's in there asking for pulmonary
18 consultations on a regular basis such as you would also
19 interpret it that way. So would I be correct?

20 A If I ask for a pulmonary consultation, it would
21 demand that an attending pulmonologist saw the patient.

22 Q Okay. So the fact that from your understanding of
23 the case that the attending pulmonologist, in fact, did not
24 see the patient, would you be critical of the pulmonologist
25 for failing to see the patient prior to the surgery?

1 A It would be critical of the surgeon for going ahead
2 with the operation. You know, Dr. O'Neil in her deposition
3 explained how these last-minute requests for consultation,
4 how they're routed and how they take place in actual fact.
5 And don't hold me to this, but I think she said she first
6 knew of the consultation on the day after the operation or
7 the day when the operation had been completed, but not on
8 the day before. And I want to correct myself. It's Dr.
9 Caralcioni who is the person who saw him, and I think he
10 was a resident who happened to be on the pulmonary service
11 at the time. But -- Okay. The end of my comment.

12 a So what you're saying is that reviewing the
13 consultation note for the pulmonary consultation, you hold
14 Dr. Badri responsible for understanding that, in fact, it
15 wasn't a pulmonologist who saw and cleared this patient
16 from a pulmonology standpoint, but it was just a resident?

17 A Well, number one, I don't think that the resident who
18 saw the patient cleared the patient from a pulmonary
19 standpoint. I don't find evidence that that was the case.
20 But I do hold him responsible as the person who is
21 undertaking this operation with the patient, putting his --
22 the patient's life in his hands, for seeing to it that the
23 operation can be conducted with acceptable risks, with all
24 the advice that he needs from consultants, and when he
25 doesn't have that, he should not go ahead with the

1 operation. That's his responsibility.

2 **a** Well, I'm just trying to understand that from the
3 consult note that appears in the records you would hold Dr.
4 Badri to the knowledge that, in fact, that consult note was
5 not the result of a pulmonologist seeing the patient?

6 **A** Of an attending pulmonologist. That's what you mean,
7 **is** that right?

8 **Q** Correct. The person **you** would expect to see the
9 patient when **you** asked for a pulmonary consultation.

10 **A** Yeah, an attending pulmonologist, as I had said a
11 moment ago, that's right. **I** think Dr. Badri in the
12 ordinary course of events would know that. He's worked at
13 this hospital almost **all** of his time.

14 **Q** How does he have that knowledge? How is he to get
15 that knowledge?

16 **A** The knowledge that the attending didn't see the
17 patient.

18 **Q** Correct.

19 **A** Sees no note by an attending in the chart.

20 **a** As I understand it, Doctor, you also hold Dr. Badri
21 responsible for Dr. Ho's clearance of this patient for
22 surgery, is that correct?

23 **A** Yes, **that's** correct. I do.

24 **Q** And just so **I'm** clear, what was it Dr. Badri was to
25 do after he received Dr. Ho's report on what Dr. Ho felt

1 was appropriate for this patient? What was he supposed to
2 do?

3 A What he was supposed to do is request a cardiology
4 consult.

5 Q Okay. **So** even though Dr. Ho didn't feel one was
6 necessary, Dr. Badri had an independent responsibility to
7 get the cardiology consult?

8 A Yes. Dr. Ho is not doing this operation.

9 Q I understand that, okay. Other than that, do you
10 hold Dr. Badri responsible for anything else that Dr. Ho
11 did or did not do in this case?

12 A No.

13 Q Doctor, would you agree with me that Dr. Ho could
14 have stopped this procedure?

15 A I don't know that that's so.

16 Q If an internist contacted by a surgeon for the
17 purpose of medical clearance on a patient gets a report
18 from the internist that, in fact, he did not feel the
19 patient was **an** appropriate candidate **for** surgery **at** that
20 time or that additional consultations were necessary before
21 surgery should be undertaken, you have no opinion whether
22 or not that would effectively stop the surgery from going
23 forward?

24 A Well, stopping the surgery depends upon Dr. Badri. I
25 think that he, if he had good sense, he would cancel the

1 operation.

2 Q Well, what is the purpose of getting an internist to
3 look at the patient if it's not for some determination as
4 to whether or not from a medical standpoint the internist
5 felt the patient was in good enough physical condition to
6 undergo the contemplated procedure?

7 A That is the purpose, to get the advice of experts, as
8 I said, in the -- in people who have expertise in the areas
9 of disease that the patient has to give their opinion on
10 what they think. Now, that opinion does not have to be
11 taken, that advice does not have to be taken, but it is an
12 important part of the process.

13 Q And ideally you would like to get someone who claims
14 to have knowledge of this particular patient's medical
15 history, correct?

16 A Well, that would be helpful to have somebody who
17 knows about the patient. I think that would be helpful.
18 Not as important as getting an expert cardiologist to see
19 the patient, but nevertheless, would be helpful.

20 Q You indicated in your report that Dr. Ho had only
21 seen Mr. Jones during one of his prior episodes, an
22 admission to the hospital in September of 1994 for two
23 days. Are you critical of Dr. Badri for not understanding
24 or knowing that was the extent of Dr. Ho's familiarity with
25 the patient?

1 A No.

2 Q The rest of your report has to do with Dr. Eo and the
3 anesthesia care, so I'm going to turn this over to Mr.
4 Casey at this point, Doctor, although I may come back
5 later, depending on what else is brought up by Mr. Casey.

6 A That's fine, Mr. Jones.

7 MR. CASEY: Doctor, I am Mr. Casey.

8 Do you want to take a break or do you want
9 to keep going?

10 THE WITNESS: No, Mr. Casey. If
11 you're ready, I'm ready.

12 MR. CASEY: Okay. I will attempt to
13 be ready.

14 - - - - -

15 EXAMINATION

16

17 BY MR. CASEY:

18 Q First let's talk about the residents in the case,
19 Doctor. You have extensive experience in dealing with
20 residents, correct?

21 A Yes.

22 Q Are you critical of any of the residents in this
23 case?

24 A No.

25 Q Do you believe that any of the residents deviated

1 from any acceptable medical standards of care?

2 A No.

3 Q Well, that takes care of the residents. As to --

4 A I want to correct that statement. I'm sorry. May I
5 amend that statement, Mr. Casey?

6 Q Sure.

7 A The one resident where I have some I think real
8 questions about performance was Dr. Sencho --

9 Q Senchysak.

10 A Say that again.

11 Q His name is Nick Senchysak.

12 A Senchysak, yes.

13 Q He was the anesthesia resident in this case.

14 A Yes.

15 Q You have told us earlier that you don't profess to be
16 an expert in anesthesia, correct?

17 A That's correct.

18 Q I don't understand how you make comments then saying
19 that the anesthesiologist in this case deviated from
20 acceptable standards of care.

21 A Well, I can make comments about it. I do operations
22 all the time and work with anesthesiologists all the time.
23 I have some considerable knowledge about what's appropriate
24 in the operating room from the anesthesia standpoint.

25 Q Okay. Then let's talk about Dr. Senchysak, the

1 resident anesthesiologist who was on the case.

2 A Yes.

3 Q What criticisms do you have of him?

4 A I said I had questions about it. And the questions I
5 have about it have to do with the induction phase of the
6 anesthesia where I think it's very possible he induced this
7 high-risk patient without an attending being there, at
8 least the attending came in in the middle of the
9 induction.

10 Secondly, I think that he extubated the patient
11 without an attending being there. The attending came in
12 after he extubated the patient, And those things I think
13 were inappropriate. There is no question in my mind that
14 the patient was not properly monitored during the case, **but**
15 I can't put that on the shoulders of Dr. Senchysak. That,
16 in fact, must go on the shoulders **of** the attending
17 anesthesiologist.

18 Q **All** right. Let's deal with these one at a time
19 then.

20 A Okay.

21 **a** You talked about the patient being induced without
22 the attending being present. Where did you get that
23 information?

24 **a** I got it from Dr. Adamek -- Am I pronouncing his name
25 correctly?

1 Q It's correct, Adamek.

2 A From Adamek's deposition in which he said that he
3 came in in the middle of the induction.

4 Q Okay. Have you reviewed Dr. Senchysak's deposition?

5 A No, I have not.

6 Q Okay. I want you to assume that Dr. Senchysak has
7 testified that Dr. Adamek was there at induction, at the
8 beginning. Would you be critical of Dr. Senchysak if Dr.
9 Adamek was present for the beginning of this anesthesia?

10 A No, I would not.

11 Q Okay. Now let's deal with the monitoring issue,
12 because I think we can deal with that one rather quickly as
13 well. I take it that your criticism of Dr. Adamek is a
14 failure to have a Swan-Ganz catheter?

15 A Not only that. The failure to have a Swan-Ganz
16 catheter, a failure to have an arterial line, the failure
17 to be present throughout the case with a first year
18 anesthesia resident in a very high risk patient, those are
19 all criticisms.

20 **a** Okay. Now, I don't think that Dr. Senchysak has
21 anything to do with how often Dr. Adamek would be in the
22 room. Can you and I agree on that?

23 A I certainly do agree.

24 Q Okay. As to the Swan-Ganz catheter, I want you to
25 assume that Dr. Senchysak has testified that he made a

1 suggestion to Dr. Adamek for the use of a Swan-Ganz
2 catheter and that that suggestion was not implemented.
3 Would you then have any criticism of Dr. Senchysak in this
4 case?

5 A No, not regarding the Swan-Ganz catheter I would not.

6 Q He did not suggest the use of an arterial line. Are
7 you critical of him for not suggesting that use?

8 A I would expect that Dr. Adamek would insist on an
9 arterial line for this case.

10 Q So you're not critical of the resident for failing to
11 suggest the arterial line?

12 A That is correct.

13 Q Okay. Now let's talk about the extubation. How do
14 you define extubation?

15 Do you need to answer that?

16 A That's my beeper going off. Let me just -- May we --

17 Q Five minutes, Doctor?

18 A No. Our other line is busy from someone else in the
19 house and I'll wait. And at some time I may have to take a
20 couple of minutes to answer it.

21 You were talking about the extubation. You said
22 would I define extubation?

23 Q Yes.

24 A The definition is removal of the endotracheal tube.

25 There is a whole process that is associated with that, but

1 it is the removal of the endotracheal tube. That's what
2 the term means.

3 Q Can you and I agree that the process of extubation
4 starts with a reversal of the patient?

5 A That is correct.

6 Q And ends with the taking out of the tube?

7 A That is correct.

8 Q Okay. Now, **so** you and I are clear, is it your belief
9 that the tube was taken out of this patient?

10 A Yes, it is my belief.

11 Q And where did you form that belief?

12 A Well, because the patient was reintubated. There are
13 two terms that are used, one is difficult extubation, and
14 if need be, we can identify where that appears.

15 Q I want to understand all references in the chart upon
16 which you base your belief that the tube was taken out of
17 this patient.

18 A May I pursue that line for a moment?

19 a Yes.

20 A Okay. It is stated in the chart that it was a
21 difficult extubation.

22 Q Where?

23 A May I just finish this and then I'll identify where
24 it says that, okay?

25 Q Okay.

1 A And of course, if that is indeed the case, that means
2 that the removal of the tube was difficult. There is no
3 other way I can interpret that in English.

4 And then second, there is in the chart a phrase where
5 it says the patient was intubated with I think it was a No.
6 8 tube, put aside for a moment the size of the tube, at
7 approximately 12:30.

8 Okay. What I said was that it said that it was
9 approximately 12:30 that the patient was intubated with a
10 number -- I believe it was a No. 8 tube. Put that aside
11 for a moment. But you can't intubate a patient who's
12 already intubated. You could only intubate somebody who
13 has been extubated. So that is why I have come to the
14 conclusion that this patient was extubated.

15 Q Okay. And when you say extubated, you aren't talking
16 about the process, you're talking about the tube actually
17 being taken out?

18 A That's what the word means. It means taking the tube
19 out.

20 a Well, can you and I agree that the term extubation
21 refers to the actual process?

22 a No. You asked me the term, what does extubation
23 mean, and what that refers to is the removal of the
24 endotracheal tube. I don't think there is anything else
25 that can be associated or substituted for that, which means

1 the removal of the endotracheal tube.

2 Q Is there a term that is used to describe the process
3 that we spoke of, that being starting with the reversal and
4 ending with the removal of the tube?

5 A Well, the term is that which you have just described,
6 one is called reversal and the other is called extubation.

7 Q What is that whole process called? Isn't that whole
8 process commonly referred to as extubation?

9 A I've never heard it referred to as that. Extubation
10 means removal of the tube.

11 Q Okay. You have not reviewed Dr. Senchysak's
12 deposition, have you?

13 A No, I have not.

14 Q Did you see anywhere in Dr. Adamek's deposition where
15 he indicated that the tube had actually been removed prior
16 to him coming into the room?

17 A Dr. Adamek said at first in his deposition that it
18 hadn't been removed, but then when he was presented with
19 the specific statement about at approximately 12:30 a new
20 tube or a tube being put in, intubated with a No. 8 tube,
21 he agreed that the patient must have been extubated if the
22 patient were intubated at that time with a tube. So Dr.
23 Adamek gave what I would consider inconsistent testimony.

24 Q Do you know what type of a tube this patient was
25 originally intubated with?

1 A I think it was the same kind of tube that he was
2 subsequently reintubated with, which would be the usual
3 state of affairs.

4 Q Can you and I agree that nowhere in this chart does
5 it say that this patient was reintubated?

6 A The term reintubated?

7 Q Yes.

8 A I don't recall seeing the term reintubated.

9 Q Okay. So we can agree that nowhere in the chart does
10 the term reintubated appear, at least to your recollection?

11 A That's right. To my recollection I didn't see the
12 term reintubated.

13 Q So your interpretation of the Dr. Heart record is
14 that this patient was reintubated at 12:30?

15 A Well, it was -- the patient was intubated. I mean,
16 it doesn't --

17 Q Let me ask you this.

18 A Wait a minute. Let me finish, Mr. Casey. One
19 doesn't have to use the term re if one puts an endotracheal
20 tube into a patient. It's just that you don't put
21 endotracheal tubes into patients who already have
22 endotracheal tubes.

23 Q Is a fair interpretation of the Dr. Hart record the
24 fact that the patient was still intubated at 12:30 with an
25 8?

1 A No, I don't think so.

2 Q Why? Because that's good for Mr. Jones in this case?

3 A That is what I would consider to be a snide comment.

4 Q I want you to assume that Dr. Senchysak has testified
5 that the tube was never taken out of this patient. Are you
6 going to come into trial and testify that the tube was
7 taken out of this patient?

8 A I would **ask** you to examine Dr. Adamek's testimony and
9 let it speak for itself.

10 Q Dr. Orloff, what I want to know is if Dr. Senchysak
11 comes into trial and testifies that the tube was never
12 taken out of this patient and the nurses who were in that
13 room come into trial and testify that the tube was never
14 taken out of this patient, are you going to testify that
15 they aren't telling the truth?

16 A I'm going to testify to my interpretation of the
17 records and everything that I see that bears on this issue,
18 which I have already stated.

19 Q Would you agree with me that words can have different
20 interpretations?

21 A As a general principal?

22 Q Yes.

23 A I would agree with you.

24 Q Would you agree with me that the people who wrote
25 words in a chart are a better source of interpreting those

1 words than people who are looking at the chart in
2 retrospect?

3 A Well, I don't think that's germane.

4 Q So asking the person who actually wrote what is in
5 the chart is not as important as asking someone who is
6 looking at it in retrospect?

7 A I didn't say that. I said let the record stand as it
8 stands. And I have given you my interpretation of the
9 record. And, of course, you know it is possible that there
10 is self interest involved in these interpretations, so one
11 has to take all that into account. I simply am looking at
12 the record.

13 Q How do you believe Mr. Jones became hypoxic?

14 A I don't know the sequence of events that occurred
15 because they're not sufficiently described in the medical
16 records.

17 Q So are you going to be offering any opinion as to the
18 I guess the word is pathophysiology of how this brain
19 damage occurred?

20 A Well, I have no doubt about how the brain damage
21 occurred. There is little question about that. I don't
22 think that is a point of debate.

23 Q That was from the pulmonary edema?

24 A No. The brain damage occurred because of an
25 insufficient blood supply to the man's brain.

1 Q Which was caused by the pulmonary edema?

2 A Which was caused by his heart stopping.

3 Q Which was caused by the pulmonary edema?

4 A No, no, no.

5 Q That's what I want to understand, Doctor. What are
6 you going to testify to at trial as to how this whole event
7 unfolded and how Mr. Jones ended up in a chronic vegetative
8 state?

9 A What I said is there isn't sufficient information in
10 the record to say with certainty what the sequence of
11 events was, and that describing the pathophysiology
12 involves speculation because there isn't sufficient hard
13 data in the records. We have what went on before and we
14 have the end result, and that's what I will testify to.

15 Q Okay. So you will not be offering any testimony as
16 to that sequence of events because you don't have the
17 information?

18 A I will not be offering any testimony to say with any
19 certainty that a certain sequence of events led to his
20 cardiac arrest.

21 Q Okay. **So** if this patient was indeed extubated, as
22 **you** have just told me that you believe, you will not be
23 offering any testimony **as** to what that extubation caused?

24 A Will you repeat that question?

25 Q You have told me that it is your belief that the tube

1 was taken out of Mr. **Jones** at around 12:30, is that not
2 your testimony?

3 **A** It is my testimony.

4 **Q** Now, my question then is, assuming that that is your
5 belief, you will not then be testifying at trial as to what
6 you believe taking that tube out of Mr. Jones caused?

7 **A** Well, we know that Mr. Jones became hypoxic.

8 **Q** That's my question to you, Doctor, is what do you
9 believe happened in this case, and **I** need to know your
10 opinions before I get to trial.

11 **A** Mr. Casey, if I may just ask you, usually at the
12 beginning of a deposition the attorney instructs the
13 witness to wait until the answer is given before
14 interrupting and interjecting another question, so I would
15 ask the **same** thing of you. You know, I start to answer a
16 question and then it may be awfully hot there in Cleveland,
17 or I don't know what the reason *is*, but then you interject
18 another question, and I think that's not appropriate.

19 **Q** Are you done with your answer?

20 **A** I'm done with my suggestion to you.

21 **Q** Okay. My question to you, Doctor --

22 **A** Which I hope you'll take to heart.

23 **Q** My question to you, Doctor, *is*, when this case comes
24 to trial will you be offering testimony as to what you
25 believe the extubation of Mr. Jones at 12:30 caused?

1 A I will be offering testimony as to the events
2 surrounding the extubation.

3 Q And what will that testimony be?

4 A That testimony will be that the patient became
5 hypoxic, that there was some difficulty associated with the
6 extubation, the exact nature of which is not stated, and
7 that that played a role in his subsequent cardiac arrest.

8 Q Okay. Do you believe that the extubation of Mr.
9 Jones directly and proximately caused the hypoxic event
10 which he suffered?

11 A I have the record which shows that his oxygen
12 saturation dropped precipitously, and so my conclusion from
13 that is that such was the case.

14 Q Can you and I agree that you were not in the room,
15 Doctor?

16 A We don't even have to ask that question.

17 a Okay. I guess my question to you is that if every
18 single person who was in that room at the time of Mr.
19 Jones' operation testifies that they never saw the tube
20 taken out of his throat, will you defer to that testimony?

21 A I won't defer to anyone that varies from the record
22 that I have read.

23 Q Okay. Now let's go to the record that you have
24 read.

25 A Okay.

1 Q I think the second indication that you had mentioned
2 was a nurse's note attached to the anesthesia record that
3 says, difficulty trying to extubate. Am I correct?

4 A Yes, that is one of the notes that I saw.

5 Q Is that the only other one that you were talking
6 about?

7 A No. That's one of the notes that I saw.

8 Q I want to understand all of them, so --

9 A Well, I'm going through the process. There is
10 another note in the operative note dictated by Dr. Badri,
11 the written note that he wrote in the chart which says the
12 same thing.

13 Q So the notes upon which you base your belief that the
14 tube was taken out of this patient are the Dr. Heart record
15 that we have already talked about?

16 A Yes.

17 Q This nurse's note that's attached to the anesthesia
18 record that we're about to talk about, the operative note
19 of Dr. Badri, the typed one, and the written note of Dr.
20 Badri?

21 A No, no. The written note of Dr. Badri. I corrected
22 myself there. I would have to consult the typed operative
23 note, and I'll do that for just a moment.

24 It's the written operative note written by Dr. Badri.

25 Q Okay. Let's deal first with the nurse's note. Do

1 you have that there in front of you?

2 A I'll get it there in front of me. Now I have it in
3 front of me.

4 Q Can you read into the record what it says that you
5 base your belief that this patient was extubated?

6 A It says, Operation ended at 12:30. Patient appeared
7 to have difficulty trying to extubate.

8 Q Can we agree that that note in and of itself does not
9 say that the patient was actually extubated?

10 A How do you determine if there was difficulty trying
11 to extubate a patient unless you extubate the patient?

12 Q That's my question to you, Doctor. Can we agree that
13 this record does not say that the patient was actually
14 extubated?

15 A The record does not say that.

16 Q The other record that you were speaking of was the
17 progress note of Dr. Badri?

18 A That is correct. I'm turning to that because I don't
19 have that in front of me.

20 Q Can you tell me where in that record you believe --
21 on what in that record you base your belief that this
22 patient was actually extubated?

23 A It says, Patient developed acute pulmonary edema at
24 time of extubation.

25 Q And there is a --

1 A Cardiac arrest.

2 Q There is a signature right next to that, is there

3 not?

4 A Next to that statement? There is not a signature

5 other than **Dr.** Badri.

6 **a** Do you see at time of extubation right next to that?

7 A Yes.

8 Q **Is** there a signature there on your copy?

9 A There **is** a signature there, but I interpreted that

10 signature related to what went with the note that went

11 before it.

12 Q Okay. And it is this note upon which you also base

13 your belief that the tube was actually taken out of this

14 patient?

15 A Yes.

16 Q But again, you have not read Dr. Senchysak's

17 deposition to know what his explanation is for these notes,

18 have you?

19 A I said that I hadn't read his deposition.

20 Q You have, h-a-v-e?

21 A No, no. You -- Asked and answered.

22 Q You're not allowed to object to the deposition,

23 Doctor.

24 A I'm not objecting, but you asked me that question

25 four times and the fifth time I'll telling you again that I

1 have not read the deposition.

2 Q Okay.

3 A So I want to make it blanket for any other times that
4 are coming down the pike.

5 Q What I want you to assume is that Dr. Senchysak has
6 testified that it was after this patient was reversed but
7 before the tube was actually taken out of this patient that
8 his pulse oximetry levels started to fall, and it was at
9 that time that he called Dr. Adamek into the room. So it
10 was during this process of extubation that the patient
11 began to have trouble but that the patient was not actually
12 extubated. Are you going to testify at trial that **Dr.**
13 Senchysak is not telling the truth?

14 A Again, to answer that question I'm going to testify
15 to what I read in the records, and that's it plain and
16 simple.

17 Q Do you believe that the explanation that I have just
18 given you is consistent with the notes which you have just
19 read?

20 A No, I don't.

21 Q Why?

22 A Well, I've cited three bits of evidence that are to
23 the contrary.

24 Q But you have not shown me one piece of evidence that
25 says the tube was actually taken out of this patient.

1 A Well, that is your interpretation. If those are the
2 words that you're looking for, then I cannot say that I saw
3 those words in the record. But I did see other -- what I
4 regard as clear evidence that extubation took place,
5 including in the Heart record a specific time when the
6 patient was intubated with an 8.0 endotracheal tube at
7 12:30 p.m. I mean, that statement **is** clear and simple,
8 including what the nurse said, which I interpret it's hard
9 to have difficulty in extubation if you don't do
10 extubation.

11 Q Well, we have already discussed that the process
12 starts with reversal, correct?

13 A The process of what?

14 Q The process of extubating a patient.

15 A If you're asking me what process takes place prior to
16 extubation, then, in fact, that's right, reversal takes
17 place. But extubation is a very specific term. It's not **a**
18 vague term. It means removal of the endotracheal tube.
19 It's not a process. It an act.

20 Q Doctor, can you and I agree that based on Dr. Badri's
21 deposition he was not present at 12:30 when this patient
22 was reversed?

23 A Yes.

24 Q So if this note is Dr. Badri's in the chart, that
25 would be based on something that he did not personally

1 observe?

2 A He said it was witnessed by anesthesia. You know, it
3 would be farfetched to think that he dreamt that up.

4 Q Have we covered all the notes in the chart upon which
5 you base your belief that the tube was taken out of this
6 patient?

7 A The notes in the chart, yes.

8 Q Is there anything else besides the testimony of Dr.
9 Adamek upon which you base your belief?

10 A No.

11 Q Assuming that the tube was never taken out of this
12 patient, do you have a medical explanation as to why Mr.
13 Jones became hypoxic?

14 A Well, again, I return to what I said. There is not
15 enough data to specifically state the sequence of events
16 that led to his hypoxia, but there are all sorts of
17 possibilities with or without the endotracheal tube.

18 Q Okay. I guess what I want to know is if you're asked
19 at trial by the plaintiff, do you have an opinion to a
20 reasonable degree of medical certainty the mechanism which
21 caused Mr. Jones' hypoxia and, therefore, brain damage,
22 will you be offering such an opinion,?

23 A I answered that question before.

24 Q And your opinion is that you don't know?

25 A I've answered that question before.

1 Q Okay. If we assume, and I know this is a big
2 assumption because you don't believe it's true --

3 A But nevertheless we'll do it.

4 Q Yeah. I mean, assuming that the jury believes that
5 Dr. Senchysak never pulled the tube out of this patient, **do**
6 **you** have any other criticisms against him?

7 A No. If indeed you're assuming that Dr. Adamek was
8 there prior to extubation and during extubation, as you
9 have stated, if that's included in the assumption, then the
10 answer is no. If Dr. S undertook this on his own, then I'm
11 critical of him.

12 Q Okay. Do you believe that this patient **was** fluid
13 overloaded?

14 A I cannot determine that.

15 Q Are you aware of the fact that Mr. Jones prior to
16 coming into the hospital on 10-17 of '94 was on Social
17 Security Disability for his heart condition?

18 A No.

19 Q I want you to **assume** that fact.

20 A Okay.

21 Q That he was not working **and** that he was completely
22 disabled in terms of the Social Security Administration.

23 A Okay.

24 Q Can you and I agree that **an** all probability **Mr.** Jones
25 was never going to return to work?

1 A We cannot agree to that.

2 Q This goes back to the comments that you were -- the
3 questions that you were asked by Mr. Jones concerning him
4 ever becoming compliant. You have testified that you
5 aren't going to give an opinion to a reasonable degree of
6 medical probability that Mr. Jones would have become
7 compliant in the future, is that your testimony?

8 A Yes.

9 Q **Is** it your testimony to a reasonable degree of
10 medical probability that we can say Mr. Jones would not
11 have become compliant in the future?

12 A No.

13 a So your testimony **is** you can't say one way or the
14 other?

15 A That's correct.

16 Q Prior to Mr. Jones coming into the hospital **I** think
17 you and I can agree that he had a pretty serious heart
18 condition, is that fair?

19 A We can agree on that.

20 a Do you believe that while he was in the hospital from
21 10-17 of '94 to 10-20 of '94 he was in active congestive
22 heart failure?

23 A No.

24 Q Do you have any criticisms of the preoperative
25 anesthesia clearance of this patient?

1 A Yes.

2 Q What is that criticism?

3 A I think the system -- for one thing I don't know that
4 the patient has any formal clearance, but I think that the
5 system used at the Meridia Huron Hospital is below the
6 standard of care.

7 Q In what way?

8 A The patient was seen by the resident X the night
9 before, according to Dr. Adamek. The experience of that
10 resident as a year of training is unknown to me. Dr.
11 Adamek indicated that the attending would get involved, and
12 I'm paraphrasing now, if the resident came with specific
13 questions to the attending about the patient. If the
14 resident did not come with questions, then the process
15 would go forward. I think that's an unacceptable process.

16 Q Okay.

17 A Secondly, the patient started out with one attending,
18 and E can't figure out whether the attending actually saw
19 the patient or not, but it is stated by Dr. Adamek that one
20 attending was involved before the operation up to the time
21 when he entered the room during induction of the anesthesia
22 knowing nothing about the patient. I think that process is
23 below the standard of care.

24 Q For who?

25 A To assure a careful, thoughtful evaluation of a high

1 risk patient.

2 Q It's below the standard of care for who, the hospital
3 or the attending?

4 A Below the standard of care that the hospital allows a
5 system like that to go forward.

6 Q Okay. Now I want you to assume the following. I
7 want you to assume that the resident who saw this patient
8 preoperatively did so at 16:15 of 10-19, okay?

9 A Okay.

10 Q At that time he ordered the patient to have 120 cc's
11 an hour after midnight of fluid. Do you believe that that
12 order violated the standard of care?

13 A No.

14 Q Do you believe that order was appropriate?

15 A It was okay. I have no criticism of that order.

16 Q At that time the anesthesia -- the resident
17 anesthesiologist -- Do you need to get that, Doctor?

18 A No. It's on another line and we'll just wait for it
19 to -- it's now been answered.

20 Q Okay. At that time the resident anesthesiologist
21 made the notes that are on the anesthesia record regarding
22 the preoperative clearance of this patient, those notes
23 being that the patient had a past medical history of
24 hypertension, congestive heart failure, sleep apnea and
25 obesity, do you believe that was an adequate history taken

1 by the resident?

2 A I think that that was a history, a very brief history
3 taken by the resident.

4 Q Do you think that was an adequate history taken by
5 the anesthesia resident?

6 A Well, considering how he classifies the patient, I
7 don't think it was adequate.

8 MR. CASEY: We're going to take a
9 break so the court reporter can change her
10 paper, Doctor.

11 (Recess was had.)

12 Q Doctor, it is your assumption that the classification
13 of the physical status of the patient was made at the time
14 of the preoperative clearance?

15 A It was made prior to the operation.

16 Q Okay. I would have to locate that specific document,
17 which I don't have before me at this moment.

18 A Okay.

19 Q Do you have the anesthesia record in front of you
20 now?

21 A I do.

22 Q Do you see the last page of the anesthesia record
23 that talks about the preoperative clearance where it says,
24 anesthesia record and then preoperative exam?

25 MR. ALLEN: What's the number on the

1 bottom of the page?

2 MR. CASEY: 77.

3 MR. ALLEN: Got it.

4 Q Okay. What I'm reading from, Doctor, is down on the
5 bottom that talks about other, and I guess my question to
6 you is, by putting down the past medical history of
7 hypertension, congestive heart failure, sleep apnea and
8 obesity, was the resident anesthesiologist giving the
9 anesthesiologist enough information from which to classify
10 this patient and decide on the appropriate monitoring?

11 A No.

12 Q What more should he have given him?

13 A This patient had a very complicated and extensive
14 history of congestive heart failure with some 14 admissions
15 to the hospital in three or four years.

16 Q Are --

17 A Let me finish, Mr. Casey.

18 Q Go ahead.

19 A And he had uncontrollable hypertension over this
20 period of time, and these are elements of the past medical
21 history which should be known to the classifier, whoever
22 the classifier is going to be.

23 Q And what about that past medical history are you
24 saying was not known?

25 A It just says hypertension, congestive heart failure,

1 sleep apnea, obesity. It says it in simple terms without
2 giving a picture of how seriously compromised this disabled
3 individual had been.

4 Q And you think that there should have been more
5 explanation?

6 A I certainly do.

7 Q And you think the standard of care required more
8 explanation?

9 A Yes.

10 Q Now I want you to assume because you have not yet
11 read Dr. Senchysak's deposition that your testimony
12 regarding -- that the testimony of Dr. Adamek regarding the
13 next morning is not actually what happened, but that Dr.
14 Senchysak actually did sit down and speak with Dr. Adamek
15 before taking this patient to surgery.

16 A Are you saying that Dr. Adamek was lying?

17 Q No, I'm not. I'm saying that --

18 A I want to be sure of that now.

19 Q I'm saying that his recollection was not clear at his
20 deposition and Dr. Senchysak's recollection was clear.
21 That's what I'm saying.

22 A So we have a difference in the clarity of the
23 recollection?

24 Q That's my belief in my reading of the deposition.

25 But Dr. Senchysak has testified that he did present this

1 case with Dr. Adamek, that it was not Dr. Badri, okay?

2 A What are you asking me to do?

3 Q Well, I'm asking you now to consider your other
4 criticism of the hospital. That is you were critical
5 because one attending saw the patient before the operation
6 and then the other attending came in at the induction. I'm
7 asking you **now** to assume that Dr. Senchysak presented the
8 case with the same attending who actually was present at
9 the induction. Would that alleviate your criticism of the
10 hospital?

11 A Well, since my criticism is very specific about this
12 point and you're saying that that's not the way it is,
13 that, of course, immediately eliminates the criticism,
14 doesn't it?

15 Q That was my question to you. I just wanted to get it
16 on the record.

17 Whose responsibility was it to classify this patient,
18 Doctor, in terms of anesthesia?

19 A The attending.

20 Q Whose responsibility was it make sure that they had
21 an adequate history?

22 A It would be the attending.

23 Q Prior to Mr. Jones going into surgery on October 20
24 of **1994** do you have an opinion which you hold to a
25 reasonable degree of medical certainty as to how long he

1 would have lived but for what happened on the 20th?

2 A You mean to give you a specific number of his life
3 expectancy?

4 Q Can you and I agree that his life expectancy was
5 diminished because of his cardiac status?

6 A I can agree with that.

7 Q You cannot?

8 A **No.** I said we can agree with that.

9 Q I'm sorry. I misheard you. Can we agree that his
10 life expectancy was further diminished by his morbid
11 obesity?

12 A Yes.

13 Q Can we agree that his life expectancy was diminished
14 by his longstanding uncontrolled hypertension?

15 A Yes.

16 Q Can you then give me any type of a range upon which
17 you believe Mr. Jones would have lived to if those problems
18 had gone uncorrected?

19 A Uncorrected?

20 Q That's my assumption.

21 A And you're asking me to make an assumption that they
22 wouldn't be corrected?

23 Q That's my assumption to you.

24 A Okay. No, I don't.

25 Q Do you believe that he would have lived another 33

1 years?

2 A I can't put a number on this assumption.

3 Q Okay. Turning now for a minute to Dr. Ho, why do you
4 believe Dr. Ho deviated from the standard of care, because
5 he cleared the patient?

6 A Yes.

7 Q And the standard of care would have required him not
8 to clear this patient?

9 A That is correct.

10 a Do you believe that Dr. Ho considered this patient's
11 cardiac status when he cleared the patient?

12 A Well, I don't think he really knew his cardiac
13 status.

14 a Did you read in Dr. Ho's deposition where he
15 testified that he had reviewed the August 25, 1994
16 echocardiogram before he made the decision to clear this
17 patient?

18 A Yes, I read that in his deposition.

19 Q Okay. Would you agree with me that the August 25 of
20 1994 echocardiogram would give and did give a good
21 indication of this patient's cardiac status?

22 A No.

23 Q Why?

24 A It was an inadequate echocardiogram, as was stated.
25 It was technically unsatisfactory.

1 Q In looking at the echocardiogram which was performed
2 on 10-18 of '94, can we agree that there is really no
3 substantial difference in those readings?

4 A Well, when something is technically unsatisfactory
5 twice in a row, gives unsatisfactory results, you can't
6 make a judgment on the basis of it. There was plenty
7 wrong, but this was an inadequate study.

8 Q Do you believe that Dr. Ho had a responsibility to
9 obtain a cardiac clearance?

10 A Yes, I do.

11 Q Wow do you believe a cardiac clearance would have
12 made a difference in this case?

13 A It would have stayed the hands. A cardiologist would
14 have said you better not operate on this patient at this
15 time. I better do an extensive workup.

16 Q Would you defer your opinion on that subject to a
17 Board certified cardiologist?

18 a A Board certified cardiologist, of course, would
19 contribute to me a meaningful opinion, but I would not
20 waiver from that judgment that I just made.

21 Q So if a Board certified cardiologist in this case
22 testifies that I would have cleared this patient for
23 surgery just like Dr. Ho, you would not defer to that
24 opinion?

25 a No, I certainly wouldn't.

1 Q Even though you're not a cardiologist?

2 A I'm a cardiothoracic surgeon. This is not a field
3 that's foreign to me. I've had two heart operations, so,
4 you know, I'm not a fish out of water here.

5 Q You say that Mr. Jones should have had cardiac
6 anesthesia. What do you mean by that term?

7 A Cardiac anesthesia is a term given for intensive
8 monitoring using a Swan-Ganz catheter, an arterial line,
9 frequent determinations of blood gasses and adjustments of
10 all of the aspects of anesthesia according to the
11 parameters one finds.

12 Q When do you believe a Swan-Ganz catheter should have
13 been placed in this patient?

14 A Before he went to sleep.

15 Q How do you believe a Swan-Ganz catheter would have
16 changed the outcome **of** this situation?

17 A Well, given an anesthesiologist who monitored it, it
18 would have led to a series of adjustments during the case
19 which would have I think played an important role in
20 preventing what occurred.

21 Q Do you hold the opinion to a reasonable degree of
22 medical certainty that if **a** Swan-Ganz catheter had been
23 placed in this case, a different result would have been
24 obtained?

25 A A Swan-Ganz catheter and arterial line and careful

1 attention to the results by an experienced person, that's a
2 package there, and I think the answer to that is yes.

3 Q How do you believe the results would have been
4 different?

5 A I believe the patient could have been treated
6 differently. He would have been given appropriate
7 medications. Hypotension would have been avoided. He
8 would not have been -- gone through the process that he
9 went through at the end of the operation. All of those
10 things. There would be a different degree of attention to
11 this case had that been done.

12 Q So are you saying that assuming a different degree of
13 attention had been paid to this case in terms of what you
14 just stated, the Swan-Ganz catheter, the arterial line and
15 the more careful monitoring --

16 A And experience.

17 Q And an experienced --

18 A Attending in the room, not a first year resident, and
19 not making an attempt to extubate the patient, all of those
20 things together would have had a different outcome.

21 Q The onset of pulmonary edema would not have occurred?

22 A Pulmonary edema I seriously believe was a secondary
23 phenomena.

24 Q Do you believe that the person suffered cardiac
25 arrest before the pulmonary edema?

1 A I don't know that, because we simply don't have the
2 data to make that judgment, but I don't think pulmonary
3 edema was a primary event.

4 Q So you do not believe the pulmonary edema was what
5 caused the downfall of Mr. Jones, you believe it was his
6 cardiac status?

7 A I do.

8 Q Would you agree with me that it is possible that the
9 pulmonary edema is what actually lead to the downfall of
10 his cardiac status?

11 A You mean pulmonary edema suddenly developing?

12 Q Yes.

13 A I want to tell you I don't believe that.

14 Q So you don't believe it **was** an acute onset of
15 pulmonary edema?

16 A No, I do not.

17 Q Do you believe this man suffered an infarction before
18 his pulmonary edema onset?

19 A That simply wasn't carefully monitored. There is not
20 enough data to know that.

21 Q Would you defer to a Board certified cardiologist in
22 that opinion?

23 A No.

24 - - - - -

25 FURTHER EXAMINATION

1 - - - - -

2 BY MR. JONES:

3 Q Doctor, I forgot one thing to look at with you, and
4 that's the pathology on the gallbladder that **was** removed.
5 Can we turn to that for **a** second?

6 A Certainly.

7 Q Let me know when you have it.

8 A Okay. I have it now.

9 Q I'm looking at the comment of the pathologist.
10 What's your interpretation of what the pathologist is
11 describing there?

12 A My interpretation is that the patient did not have
13 acute cholecystitis.

14 Q And why do you reach that conclusion?

15 A Be says, no acute inflammation was observed. The
16 earmark of acute cholecystitis is acute inflammation. No
17 acute inflammation was observed. And instead he said, mild
18 to moderate chronic inflammation was noted.

19 Q What about the recent hemorrhage in the wall **of** the
20 gallbladder?

21 A Yes.

22 Q What does that represent?

23 A Well, it could represent two different things. First
24 thing, which is most likely, is that it represents
25 operative manipulation of the gallbladder producing

1 hemorrhage into the wall. That is in my opinion the most
2 logical thing.

3 The second thing is, of course, his failure to
4 represent prior hemorrhage, but to me that is -- would be
5 very unlikely in this setting.

6 Q Okay. So that's just a finding from the manipulation
7 of the gallbladder and removing it from the surgery?

8 A Yes. And it's not unusual, I have to say. You put
9 clamps on it, you pull on it, you do all sorts of things
10 while its blood supply is still intact, and that causes
11 hemorrhage into the wall.

12 Q What would cause mild to moderate chronic
13 inflammation of the gallbladder?

14 A Typical chronic cholecystitis simply means that he
15 has had at least one previous episode of cholecystitis,
16 gallbladder disease associated with gallstones. And that
17 too I have to tell you, Mr. Jones, that is the rule rather
18 than the exception in cases who undergo resective
19 cholecystectomy for gallstones.

20 Q That they have typically had at least one prior
21 episode of acute cholecystitis?

22 A Well, sometimes the episode is not acute. I didn't
23 use that term.

24 Q Oh, I'm sorry.

25 A That they had previous episodes of gallbladder

1 disease associated with their gallstones. In actual fact,
2 sometimes that episode was ~~not~~ even realized by the
3 patient, so that patients who have this very typical
4 finding of mild to moderate chronic inflammation sometimes
5 have it with their first recognized episode of gallbladder
6 disease associated with gallstones, but not acute
7 cholecystitis. It no way implies that there was previous
8 acute cholecystitis.

9 Q It could be consistent with a prior episode of acute
10 cholecystitis, correct?

11 A Yes, it could be. There is this thin wall of two
12 millimeter thickness. That's a thin-walled gallbladder.

13 Q Isn't that the upper level of normal for the
14 thickness of a gallbladder wall?

15 A I'm sorry?

16 Q Isn't 2.2 sonometers the upper end of what would be
17 considered normal?

18 A Yeah. We call that a thin-walled gallbladder. It's
19 not a gallbladder that one finds in adute cholecystitis or
20 in patients who have had repetitive attacks. It is -- We
21 call that a thin-walled gallbladder. Just think of what
22 two millimeters is.

23 Q That wouldn't mean anything to me in relation to a
24 gallbladder, Doctor, because I have not seen enough
25 gallbladders in my day to make a judgement of what is thin

1 or thick.

2 A Have you had your gallbladder taken out yet?

3 Q No. Thank goodness, I still have it.

4 A If you come out here, we would offer you a free
5 ultrasound.

6 Q You would love to get your hands on me, Doctor, but I
7 would love to resist.

8 If a previous episode of acute cholecystectomy did
9 not cause the changes in the gallbladder, what other kinds
10 of processes in this gentleman's past could have caused
11 this kind of mild to moderate chronic inflammation?

12 A Well, you know, associated with the attacks of
13 biliary colic, the pain that occurs, there may be some mild
14 chemical inflammatory changes resulting from I'm going to
15 use a term stasis. It means that the irritating bile sits
16 there in the gallbladder and causes mild inflammation of
17 the wall. Those are the processes. But most of these have
18 no recognized episode of acute cholecystitis with **all** of
19 the symptoms and signs that I described.

20 Q The stasis of bile that irritates the wall, is that a
21 result of some obstruction of outflow from the gallbladder?

22 A Sure. Obstruction of outflow or the fact that the
23 gallbladder doesn't empty properly. After all, it's a
24 dysfunctional gallbladder. It doesn't contract properly
25 and stuff sits there.

1 MR. JONES: That's all I have,
2 Doctor. Thanks.

3 MR. CASEY: I have nothing else,
4 Doctor.

5 MR. JONES: Doctor, you have a right
6 to read a transcript of this deposition.

7 MR. ALLEN: I would like to say let's
8 read and sign it.

9
10 (Deposition concluded.)

11 - - - - -

12

13

14

15

16

17

18

19

20

21

22

23

24

25


I, Marshall Orloff, M.D. am a licensed physician, board certified surgeon. I have reviewed the records of Dewey Jones, including the hospital records from July 20, 1991 to September 20, 1994 of the Community Hospital Bedford; and the September 17, 1994 through November 21, 1994 records of Meridia Huron Hospital.

Mr. Jones is in coma and on a ventilator as a result of having sustained a cardiac arrest during a cholecystectomy performed over two years ago. He has permanent, severe brain damage. It is my opinion that his misfortune is the result of negligence on the part of (1) the surgeon, Dr. Badri; (2) the internist, Dr. No; (3) the anesthesiologists, Dr. Adamek, Dr. Senchyll, et al; and (4) Meridia Huron Hospital. It is my considered opinion, within a reasonable degree of medical certainty that the negligence of Defendants, includes but not limited to the following:

1. In this very high risk patient, operation was not indicated on 10/20/94 for several reasons. Firstly, the cholecystitis was not severe or in any way life-threatening. His symptoms and signs were subsiding he had no signs of acute inflammation or infection, and his bile duct was of normal size and free of stones, with no evidence of obstruction. Secondly, Mr. Jones had an incredible record of 13 previous hospital admissions for serious diseases in the short span of 3-1/4 years, and any major operation would have been associated with very high risks. In a morbidly obese patient with an established record of uncontrolled hypertension, repeated congestive heart failure, cardiomyopathy, sleep apnea, chronic edema, depression, attempted suicide, and noncompliance with medical therapy, the decision to operate on him represented poor judgment and was below the standard of care.
2. Alternatives to cholecystectomy, to include but not limited to:
 - A. Dietary therapy with a low-fat diet while, at the same time, performing a detailed diagnostic workup of the cardiovascular disease in order to prepare Mr. Jones for an operative procedure, should one ultimately become necessary.
 - B. Percutaneous drainage of the gallbladder (cholecystectomy) under local anesthesia by an interventional radiologist, with subsequent chemical dissolution of the gallstones.
 - C. Surgical cholecystectomy (drainage of the gallbladder) under local anesthesia.
 - D. Ultrasonic lithotripsy - destruction of the gallstones with sound waves.
3. There was not an adequate diagnostic workup of Mr. Jones' cardiovascular disease performed at Meridia Huron Hospital. Mr. Jones was not well known at MHH. Dr. Winston Ho had seen Mr. Jones in only one of his episodes of uncontrolled hypertension when he was admitted to MHH on 9/18/94 for two days, and he had not performed a detailed cardiac diagnostic workup.

4. It was inappropriate for Dr. Ho to state on 10/19/94 that "He is medically cleared for surgery". Dr. Ho's "clearance" was below the standard of care. He issued the "Clearance" after performing a superficial workup and, by his own statement, without having seen the results of the 2-D echocardiogram. I should add that Dr. Ho's consultation did not relieve Dr. Badri of making the decision about whether or not to operate, and the associated responsibility.
5. The conduct of anesthesia was below the standard of care. There is no indication that the anesthesiologists appreciated the severity of Mr. Jones' illnesses. Furthermore, there is no indication that Mr. Jones was adequately monitored. He should have had "cardiac anesthesia," as is performed in heart surgery, which includes insertion of a Swan-Ganz catheter and monitoring of cardiac performance. An experienced attending anesthesiologist should have been in the operating room at all times.

In summary, Dewey Jones should not have undergone cholecystectomy on 10/20/94 and, more likely than not, he should not have suffered a cardiac arrest at the end of the procedure.


MARSHALL J. ORLOFF, M.D.
3/5/97