

DOC 348

THE STATE OF OHIO,)
) SS: KEVIN CALLAHAN, 3.
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS

SUZANNE BOYD, etc., et al.,)
)
Plaintiffs,)
)
v.) Case No. 233783
)
BERT M. BROWN, M.D., etc..)
et al.,)
)
Defendants.)

- - -

Deposition of RICHARD L. PARSANKO, D.D.S.,
taken by the Plaintiffs as if upon cross-examination
before James M. Mizanin, a Registered Professional
Reporter and Notary Public within and for the State
of Ohio, at the offices of Jacobson, Maynard,
Tuschman & Kalur Co., L.P.A., 1001 Lakeside Avenue,
Suite 1600, Cleveland, Ohio, on Wednesday, the 4th
day of August, 1993, commencing at 2:00 p.m.,
pursuant to notice and agreement of counsel.

- - -



MIZANIN REPORTING SERVICE, INC.
COMPUTERIZED TRANSCRIPTION

• DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

444 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331 • FAX: (216) 241-8044

APPEARANCES:

Sindell, Lowe & Guidubaldi,
By: Charles M. Young, Esq.,

On behalf of the Plaintiffs.

Jacobson, Maynard, Tuschman & Kalur Co., L.P.A.,
By: Joseph A. Farchione, Jr., Esq.,

On behalf of Defendant Richard L. Parsanko,
D.D.S.

- - -

STIPULATIONS

It is stipulated by and between counsel for
the respective parties that this deposition may be
taken in stenotypy by James M. Mizanin; that his
stenotype notes may be subsequently transcribed in
the absence of the witness; and that all
requirements of the Ohio Rules of Civil Procedure
with regard to notice of time and place of taking
this deposition are waived.

- - -

I N D E X

Pane

Gross-Examination by Mr. Young

4

PaneOBJECTIONS:

By Mr. Farchione

12, 15, 18, 23, 40,
49, 50, 54, 55, 57,
59, 60, 61, 62, 63

- - -

1 RICHARD L. PARSANKO, D.D.S.,
2 a Defendant herein, called by the Plaintiffs
3 for the purpose of cross-examination, as provided by
4 the Ohio Rules of Civil Procedure, being by me first
5 duly sworn, as hereinafter certified, deposes and
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. YOUNG:

9 Q. Doctor, would you state your name and **spell** your
10 last name for the record, please.

11 A. Richard L. Parsanko, P-a-r-s-a-n-k-o, **D.D.S.**

12 Q. Doctor, what is your business address?

13 A. 6132 West Creek Road, Independence, Ohio.

14 Q. And you are a dentist?

15 A. Correct.

16 Q. You received your undergraduate degree where?

17 A. At Harvard University.

18 Q. Graduated when'?

19 A. 1971.

20 Q. **And** your dental degree you got where?

21 A. University of Michigan.

22 Q. In what year?

23 A. 1975.

24 Q. What did you do professionally after 1975?

25 A. I opened my own practice of general dentistry,

1 Q. And did you purchase another dentist's practice or
2 did you simply open the doors to your own practice?

3 A. I opened the doors to my own practice, yes.

4 Q. And in what community was that?

5 A. Independence, Ohio.

6 Q. And for what period of time? Are you still in
7 Independence?

8 A. Yes, sir,

9 Q. And always at the same address?

10 A. No, I changed addresses within the same city.

11 Q. Okay. Is it a sole practice?

12 A. It's a --

13 Q. You are a sole practitioner?

14 A. No, I'm in a group.

15 Q. Was there a group when you opened the doors to your
16 own practice?

17 A. No, there was not. I was a sole proprietor.

18 Q. Started on your own?

19 A. Yes.

20 Q. And are you incorporated?

21 A. Yes, I am.

22 Q. When were you incorporated?

23 A. I'm not exactly sure. I think it was around 1976.

24 Q. Okay. And who are the principals involved in that
25 practice or who were they in 1990?

1 A. I was the only shareholder in that corporation.

2 Q. And what was the name under which you did business?

3 A. Richard L. Parsanko, D.D.S., Tnc., also known as
4 Rockside Family Dental Care.

5 Q. How many dentists would have been working at
6 Rockside Family Dental Care in 1990?

7 A. Two.

8 Q. And who is the other dentist?

9 A. His name is Dean Carmichael, C-a-r-m-i-c-h-a-e-l.

10 Q. And Dr. Carmichael would have graduated from dental
11 school when?

12 A. Approximately 1982.

13 Q. All right. Had he been practicing in the community
14 prior to your coming to Independence? Does that
15 make sense? You started practicing in Independence
16 when?

17 A. In 1975.

18 Q. I'm sorry.

19 A. So he joined my practice right from dental school.

20 Q. All right. Had you been from the Independence area?

21 A. Yes.

22 Q. Can you tell me the nature of your practice of
23 dentistry? Is it general practice?

24 A. It's general practice.

25 Q. And it is a family practice. You do not specialize

1 in any certain age group?

2 A. No, I do not.

3 Q. Do you extend your practice into any specialty in
4 any way?

5 A. I'm not sure I understand. Do you mean --

6 Q. By that I mean, do you do orthodontics'? Do you
7 do --

8 A. Yes, I do.

9 Q. Any other areas, periodontics?

10 A. I think it's usual that dentists will occasionally
11 do **some** periodontics, do some minor oral surgery, do
12 some minor orthodontics, and still call themselves
13 general dentists

14 Q. Right. There is no area in which you spend perhaps
15 50 percent of your time other than the general
16 practice of dentistry?

17 A. No.

18 Q. In terms of your general practice of dentistry, are
19 you involved in any way in the diagnosis and/or
20 treatment of oral cancer?

21 A. Yes, I am.

22 Q. Can you describe how your practice would involve you
23 in that area?

24 4. On a regular basis as patients come for routine
25 checkup, cleaning, examination, we do a thorough

1 examination of every patient on every visit.

2 Q. And in terms of diagnosis or treatment of oral
3 cancer, rhere are occasions when you are called upon
4 to diagnose or become involved in the diagnosis of
5 oral cancer!

6 A. If I find suspicious things, I might suspect oral
7 cancer, I would then refer them to the appropriate
8 specialist.

9 Q All right. Let me back up if I **may** then and ask you
10 if you have received any formal training in the
11 diagnosis of oral cancer?

12 A. I would say in **dental** school and in continuing
13 education courses.

14 Q. Okay,

15 A. We learn to identify those things.

16 Q. In dental school, when you were lhere prior to
17 1975, had there been some portion of the curriculum
18 devoted to the identification of oral cancer?

19 A. Yes.

20 Q. All right. Can you tell me how it would have been
21 presented to tha students at that point in time?

22 A. I think it would have been introduced through
23 textbooks and then through slides and then through
24 histology, oral pathology courses, and study of
25 cadavers, those kina of things.

1 Q. All right. In terms of your formal education while
2 in dental school, would the education have dealt
3 with primarily the identification of suspicious
4 lesions as opposed to diagnosis and treatment of
5 them?

6 A. I think so, yes.

7 Q. And by that in attempting to be fair, what I mean is
8 in general in dental school, you are alerted to
9 conditions that you have to be aware of, but the
10 general practice of dentistry doesn't involve the
11 dentist in the treatment of those conditions, is
12 that fair?

13 A. Correct.

14 Q. All right. Now, since you graduated from dental
15 school, have you been involved in any way in
16 continuing education in the diagnosis or treatment
17 of oral cancer?

18 A. I've taken several courses that have dealt with
19 that, yes.

20 Q. All right. And I'm unaware of how these courses are
21 presented. Are they presented generally through the
22 dental association locally?

23 A. The one in particular you are talking about or the
24 ones I've taken?

25 Q. The one you have taken or the ones you have taken.

- 1
[]

1 A. I took one at University of Michigan. I've taken
2 one -- took one in St. Thomas that dealt strictly
3 with tongue lesions, and the one in St. Thomas
4 obviously was not associated with a university.

5 Q. Who was it associated with?

6 A. Trident. Trident chewing gum sponsors it.

7 MR. FARCHIONE: So they really do ask
8 dentists their opinion?

9 A. Yes, but only four out of five agree with them.

10 Q. (BY MR. YOUNG) Let me back up then and talk about
11 the continuing education course that you took at the
12 University of Michigan.

13 A. Okay.

14 Q. Can you tell me the nature of that course?

15 a. I think it was oral diagnosis.

16 Q. Oral diagnosis"

17 A. Yes.

18 Q. That would have been approximately when?

19 A. Oh gee, that one has probably been ten years ago.

20 Q. And was that through the dental school?

21 A. Yes.

22 Q. Was it more than a one-day course'?

23 A. No, one **day**.

24 Q. One day.

25 Do you recall who taught it?

1 A. Nathaniel Rowe, R-o-w-e.

2 Q. And did Dr. Rowe teach the entire course, if you
3 recall?

4 A. I think he did, yes. I believe he was the sole
5 speaker.

A Q. Is Dr. Rowe on the staff of a teaching facility'?

7 A. Yes.

8 Q. Where, at the University of Michigan?

9 A. Yes.

10 Q. And you took one at St. Thomas. Do you recall
11 approximately when'?

12 A. Approximately 1986.

13 Q. Was that a one-day seminar?

14 A. Yes, it was.

15 Q. What person offered that seminar or **what** person,
16 what authority spoke?

27 A. His name was Bottomly and I **don't** remember his first
18 name.

19 Q. And was he also a professor at a dental school'?

20 A. Yes, he was.

21 Q. And what school'?

22 A. I'm not sure. Perhaps Maryland. That's a guess.
23 I'm not exactly sure.

24 Q. I assume that there were materials and other things
25 that were handed out to the participants in both of

1 those seminars!

2 A. I imagine there were. I'm not sure. I can't
3 remember.

4 Q. Do you know if you have retained those over the
5 years! If you are like me --

6 A. I don't think I have, no.

7 Q. Do you have a place where you keep all of those
8 materials for future reference?

9 A. Some that I feel are pertinent and I want to retain.

10 Q. In addition to having received or taken these two
11 courses, have you had the occasion to read
12 periodical articles over the years concerning the
13 diagnosis or treatment of oral cancer?

14 A. Yes.

15 Q. As you sit here today, do you recall any of those
16 articles upon which you have relied in any way?

17 MR. FARCHIONE: Objection.

18 A. I can't quote one in particular.

19 Q. (BY MR. YOUNG) Nothing comes to mind?

20 A. Nothing comes to mind.

21 Q. Is there any authoritative source that you consult
22 in your practice of dentistry concerning the
23 diagnosis of oral cancer?

24 MR. FARCHIONE: Objection.

25 A. I refer to many oral pathology books but I don't

1 consider any of them to be authoritative.

2 Q. (BY MR. YOUNG) You don't consider any of them to be
3 authoritative?

3 A. The ones that I have, I refer to them for reference
5 but I don't rely on them for being the sole
6 determination of what the pathology is and what is
7 not.

8 Q. What specific text do you refer to'?

9 A. I have several pictorial and textbooks on oral
10 pathology that help me to recognize lesions.

11 Q. Do you recall the names or publishers of any of
12 them?

13 A. They are on my shelf at my office but I don't recall
13 the authors.

15 Q. Okay. Doctor, do you yourself teach any dentistry'?

16 A. No, I do not.

17 Q. Have you in the past'!

18 A. No, I have not.

19 Q. From the time that you graduated from dental school,,
20 have you been involved in teaching others in any
21 way dentistry?

22 A. Yes, I have.

23 Q. Where?

24 A. Cuyahoga Valley Vocational School.

25 Q. When was that?

1 A. hpproximately 1976, 1977.

2 Q. And what was the nature of the course that you
3 offered there?

4 A. reaching patient management *to* dental assistants.

5 Q. And you taught for one school year?

6 A. I think it may have been two. I don't recall
7 exactly.

8 Q. Have you taught any other courses of any nature
9 since graduation from school?

10 A. No, I have nor.

11 Q. Have you written any articles concerning the
12 practice of dentistry in any **way**?

13 A. No, I haven't.

14 O. Doctor, I assume **that** in your practice you have had
15 the occasion to observe lesions which you suspected
16 could have been cancerous?

17 A. Yes.

18 Q. And as I understand your testimony, essentially what
19 you do is observe or examine patients, try **to**
20 identify those conditions which might be indicative
21 of oral cancer, and you then refer those patients to
22 other people:'

23 A. Correct.

24 Q. Is that correct?

25 A. Yes.

1 Q. In other words, you look for the warning signs that
2 might indicate to a dentist that the person might be
3 suffering from some oral cancerous condition?

4 A. Yes.

5 Q. All right. And you said that essentially you
6 examine these people for those suspicious lesions,
7 correct?

8 A. Yes, I do.

9 Q. Can you describe for me your general practice then
10 in your practice! of dentistry concerning examination
11 for conditions that might indicate oral cancer'?

12 MR. FARCHIONE: Objection. You may
13 answer.

14 A. Patients are examined extraorally, and that means
15 checking lymph glands and nodes, the larynx, the
16 thyroid, the TMJ, facial skin, cursory examination,
17 an intraoral soft tissue examination is done, that
18 includes the throat, palate, cheeks, lips, the
19 dorsal and ventral surfaces of the tongue and the
20 floor of the mouth. Examination is done of the
21 gingiva or the periodontia, examination is done of
22 the teeth, existing fillings, occlusion and such,
23 and then presented to the patient.

24 Q. All right. So that it's not simply the condition of
25 the teeth or of the gums and the bone structure, but

1. also those things within the oral cavity or the head
2. and neck that might indicate some oral cancer which
3. are examined by you, correct?

4. A. I'm sorry. I also, in reference to your last
5. question, forgot to say that x-ray examinations and
6. diagnoses also are made as necessary.

7. Q. Now, you have just described the type of examination
8. that you perform. On what occasions would that type
9. of examination be performed?

10. A. It's absolutely done on every recall or prevention
11. visit that we do, such as our routine checkup and
12. cleaning, or if someone comes in with a specific
13. problem.

14. Q. Now, if I can understand your testimony, I assume
15. that then that type of examination is done when a
16. patient first presents to your office, correct?

17. A. **Absolutely.**

18. Q. And it is done periodically thereafter, as you have
19. described?

20. A. Yes, it is.

21. Q. 'The total examination would not be done on each
22. occasion that the person would present to your
23. office, would it'?

24. A. On each of the recall or prevention visits, it is
25. done.

1 Q. 411 right. Now --

2 h. And in general that's about every six months.

3 Q. Now, when we talk about recall or prevention visit,
4 is that a visit which we distinguish from an ongoing
5 course of specific treatment?

4 A. Yes.

7 Q. Where a person would follow up **more** often?

8 A. Yes.

9 Q. All right. In terms of a recall or prevention
10 visit, you have described that that would be every
11 six months. Is that the period of time when you
12 would recommend prevention or **recall** visits?

13 A. For the majority of people. Other people require
14 more frequent. recall or prevention visits or
15 sometimes even less frequent.

16 Q. Certainly not every person comes in every six months
17 or annually as you would recommend, but I assume
18 that that is the periodic follow-up visit **for**
19 observation for any problems that might have
20 developed since the last recall visit'?

21 A. Correct.

22 Q. Or conclusion of any course of active treatment,
23 correct?

24 A. Yes.

25 Q. Now, you correct me if I'm wrong, but I'm going to

1 try to undererrtand how it is that you practice. And
2 I assume rhat when a person comes in for a general
3 office visit, that person i s examined, problems are
4 observed, recommendations are made, and then an
5 ongoing active course of treatment might be
6 recommended, correct?

7 A. Correct .

8 Q. And that course of treatment might take one, might
9 take more visits, but we would hope that it would be
10 concluded and the person would then be put on a
11 period of continuing observation, correct?

12 A. Correct,

13 MR. FARCHIONE: Objection.

14 Q. (BY MR. YOUNG) And your recommendation for that
15 would be perhaps every six months or perhaps more
16 often as necessary?

17 A. Correct.

18 Q. But whatever **that** period of periodic visit might be,
19 that person would then be examined as you have
20 described for all conditions, including an
21 examination for oral cancer, is that correct?

22 A. Correct.

23 Q. Now, without getting into the question at this time
24 of what is a suspicious condition, let me ask when
25 you observe what might be a suspicious condition,

1 that being something that you believe could possibly
2 be cancerous, what is it that you do with regard to
3 diagnosis or treatment of the patient?

4 A. Anything unusual is noted in the record, it's
5 brought to the attention of the patient, and a
6 course of action determined by what kind of lesion
7 it is is discussed and discussed with the patient.

8 Q. All right. I assume that you do not become involved
9 in the active treatment or diagnosis of what could
10 be a cancerous condition, is that correct?

11 A. Correct.

12 Q. And you make referrals to other professionals who
13 specialize in the treatment of that type of
14 suspected condition'?

15 A. Yes, I do.

16 Q. To whom do you make such referrals or to whom did
17 you make such referrals in 1990?

18 A. Dr. Don Blair, B-l-a-i-r, oral surgeon, and Dr.
19 Anthony Forde, F-o-r-d-e, oral surgeon.

20 Q. F-o-r-d, as in dog, -e'?

21 A. Yes.

22 Q. And are they both still in practice?

23 a. Yes. they are.

24 Q. Where?

25 A. Dr. Forde has an office in Parma, at Parmatown,

1 Parmatown Medical Building North, and Independence,
2 on Xockside Road. Dr. Don Blair has an office
3 at Parma Medical Building South.

4 Q. And I'm sorry, I didn't ask you where your office
5 was in 1990. Can you tell me?

6 A. It was in the same location I'm in now on West Creek
7 Road.

8 U. And at that time you had only one office?

9 A. Yes.

10 Q. And you still have one office today?

11 A. Yes.

12 Q. When you would make referrals to Dr. Blair or to Dr.
13 Fnrde, was there a particular procedure that you
14 followed in making the referrals'?

15 A. Yes. If there was a suspicious lesion that I felt
16 should be looked at by a specialist, we would walk
17 the patient to the private office, get on the phone,
18 and help them make the appointment.

19 Q. To the private office meaning within your suite?

20 A. Yes.

21 Q. And you would essentially make sure that that
22 patient made an appointment doing so from your
23 office?

24 A. Yes.

25 Q. And was there any follow-up thereafter?

1 A. To make sure the patient went to the office or kept
2 the appointment'?

3 Q. Or in any other way.

4 A. Generally what would happen is that when the patient
5 was seen by the specialist, the specialist would
6 call me and discuss what had occurred or what his
7 thoughts were.

8 Q. And would a record of that call be placed in your
9 file, in your patient file'?

10 A. It may or it may not. If it was -- if they were
11 referred out, it generally would be and in most
12 cases we would get a written report of what was seen
13 by the oral surgeon.

14 Q. Okay. And would that be in the form of a letter
15 that would be sent to you by the oral surgeon?

16 A. Yes.

17 Q. You described for me a type of examination that was
18 performed during each recall or periodic exam of a
19 patient which would examine for oral cancer. Was
20 that done in 1990 as well as today?

21 A. Yes, it was.

22 Q. In terms of your examination, what was it inside of
23 the mouth that you were looking for?

24 A. We do a visual as well as a palpation of soft
25 tissues looking for changes in color, consistency,

--
A

1 texture, lumps, bumps, or uncomfortable areas during
2 palpation.

3 Q. And what would be your criteria for determining what
4 would be a suspicious lesion?

5 MR. FARCHIONE: Are we limiting it to
6 the tongue or --

7 MR. YOUNG: No, we're talking about --

8 MR. FARCHIONE: You are still keeping
9 it --

10 MR. YOUNG: Talking about inside the
11 oral cavity.

12 A. I would be suspicious of anything that did not look
13 normal.

14 Q. (BY MR. YOUNG) Okay.

25 A. I don't mean to be ambiguous --

16 Q. That's fine. I can accept that. Anything that
17 appears other than normal is something which you
18 would be concerned about and consider suspicious, is
19 that fair?

20 A. Yes.

21 Q. Now, are there conditions which would not be normal
22 but which you could dismiss as not holding the
23 potential for oral cancer?

24 A. There are many, yes.

25 Q. But there are conditions which would alert you to

1 the possibility that the person could be suffering
2 from oral cancer? There are suspicious lesions?

3 h. Yes.

4 Q. And it is those suspicious lesions which you would
5 refer for examination by the oral surgeons that you
6 have described?

7 a. Correct.

8 Q. Can you describe for me what suspicious lesions,
9 oral lesions, would cause you to **make** a referral of
10 a patient?

11 MR. FARCHIONE: Objection. You may
12 answer.

13 A. You know, there are obvious lesions that would just
14 jump out at you such as ulcerative bleeding, huge
15 lumps, hard, **palpable** lesions that were not supposed
16 to be in the position they are in, or any extreme
17 discomfort that may also include ulcerations or
18 bleeding.

19 Q. (BY MR. YOUNG) All right. Well, we are here today
20 concerning an oral lesion of **the** tongue, a white
21 plaque-type of lesion, would you agree?

22 MR. FARCHIONE: Objection.

23 A. I'm not sure --

24 MR. FARCHIONE: He hasn't seen any
25 subsequent records so **if** you are referring

1 to --

2 A. i didn't see a while plaque lesion, no.

3 Q. Okay. Then I'll back up.

4 Let me skip over to the point when Allan Boyd
5 came under your care. Can you tell me from your
6 records when he first came under your care'?

7 A. Yes. I first saw Mr. Boyd in, I guess it **was**
8 September of 1982.

9 Q. And you are looking at what I've marked **for**
10 identification purposes as Dr. Parsanko Deposition
11 Exhibit 2, is that correct?

12 A. Correct.

13 Q. Your attorney has provided me with a copy of what
14 you have there. Can you describe what that form is?

15 A. This is a record of each patient's visits and
16 notation of treatment that we make when they come to
17 our office.

18 Q. All right. And this contains all notations of
19 treatment that would be made in your office?

20 A. Yes.

21 Q. Are there any other records of treatment, and by
22 that I mean dentist's notes, that would be **made**
23 within your office?

24 A. No, there are none.

25 Q. You would also have billing records, you **would** have

1 x-rays and things of that nature. Bur all notations
2 of any treatment would be made on a record similar
3 to this, correct?

4 A. Yes.

5 Q. This is opened when the patient first comes to
6 your office?

7 A. Yes.

8 Q. And from this you are able to conclude that Mr. Boyd
9 first came to you in 1982 from the date --

10 A. On the first notation.

11 Q. Okay. And in addition to hllan Boyd, did you trear
12 any other members of his immediate family, to your
13 knowledg

14 A. I'm not sure.

15 Q. Do you have any recollection, independent
16 recollection, of Allan Boyd as you sit here today?

17 A. Yes, I do.

18 Q. You treated him for a period of perhaps eight years
19 off and on?

20 A. Yes.

21 Q. To your knowledge did you treat Suzanne Boyd in any
22 way?

23 A. I can't remember the name.

24 Q. All right. Do you recall treating Mr. Boyd's
25 mother-in-law in any way? It's not a test. I'm

1 just looking for your degree of familiarity.

2 A. I don't remember -- Is the name Boyd, too?

3 Q. No.

4 A. I can't remember that.

5 Q. I want you to describe for me essentially his
6 examinations and treatment prior to 1990, if you
7 would, from your record.

8 MR. PARCHIONE: On each visit or
9 generalize what he --

10 MR. YOUNG: Generalize if you would.

11 I'm unable to read his writing totally.

12 A. This is an outline of things that I try to examine,
13 and we do -- I described our extraoral examination,
14 our intraoral examination, check the occlusion, any
15 habits, the periodontia, the radiographs or any
16 unusual remarks, and we do that with every visit
17 trying to keep the same, so any examination that we
18 did follows that outline.

19 When we do an examination --

20 Q. (BY MR. YOUNG) I'm sorry. That was not on my copy.

21 A. Okay.

22 Q. And perhaps because it's simply light. But can you
23 describe for me what you mean by that? Is this a
24 procedure which you follow with a specific patient
25 each time they present in your office?

1 A. Yes.

2 Q. And rhat may differ from patient to patient?

3 A. No. I do exactly the same rxamination for every
4 preventive visit.

5 a. All right. I see a notation of three dates here,
A 9-2-82, 9-13-83, and **5-9-84**. Were there other
7 visits on which that type of examination would have
8 been done prior to 1990?

9 A. Yes. On 12-11-87, on 7-19-88, and that's **all**.

10 Q. All right. And I didn't mean to exclude that **but** I
11 didn't understand the relevance between notes here
12 to **the** left lower corner of **what has** been marked as
13 the second page ot the Deposition Exhibit 2, and the
14 general chronological visits that are noted on the
15 right side of this form. Is there any special
16 difference between --

17 A. Yes, we just changed office policy. We still **do**
18 exactly the same office examination but we don't
19 make the little notes, we just write exam and that
20 assumes that we do this outline of examination.

21 Q. Okay, And that examination is the examination that
22 you have described for us also including the
23 examination for potential suspicious lesions or oral
24 cancer, correct?

25 A. Correct.

1 Q. Now, that would have occurred on 9-2-82, 9-13-83,
2 5-9-84 --

3 A. Exam.

4 Q. July of '87?

5 A. Correct, and July of '88.

6 Q. And then we ran out of room on this side of the form
7 and we go to the opposite side, do we not?

8 A. And then on 1-23 of '89, and then we come to the
9 visit of 1-30-90.

10 Q. 1-38-90. All right. Now, can you describe for me
11 his general dental condition and how you treated him
12 prior to 1990?

13 A. Allan would come in on a periodic basis, not on a
14 six month. We had recommended for Allan a six-
15 month return to the office because of his tobacco
16 and periodontal condition, and he would not usually
17 follow that recommendation, so when he would
18 schedule an appointment, we would do routine
19 examination, as I have described, x-rays, as
20 necessary, or as we felt were important, and then a
21 cleaning, and then notes in green which don't show
22 up on your copy, are hygienist's notes, in **black** and
23 blue are my notes.

24 Q. Is all of the handwriting in either pencil, black
25 or blue, written in your handwriting on both **sides**

1 of what's been marked for identification purposes as
2 Deposition Exhibit 2?

3 A. This is not my writing.

4 Q. Indicating the pencil at the lower left-hand corner
5 of this form?

6 A. Yes.

7 Q. Anything else?

8 A. Anything in green or in yellow.

9 Q. And you have yellow being highlighted'?

10 A. Yes.

11 Q. All right. And do you place that in green or
12 highlighted in yellow to alert the dentist as to
13 what the hygienist is doing?

14 A. It just identifies who has written the notes.

15 Q. All right. I assume that from your description of
16 the recall or periodic exam that is done, a dentist
17 performs that examination in your office, is that
18 correct?

19 A. Yes.

20 Q. All right. And I see concerning some of those
21 visits that notations of those exams have been
22 written by the hygienist. Is that general practice
23 in your office?

24 A. Yes, it is. I might, during the examination,
25 because I have gloves on, dictate my findings or

1 dictate certain notes that I want to record.

2 Q. Concerning Allan Boyd, would you have been the
3 dentist who rendered his treatment in each case that
4 he came to your office?

5 A. I think I'm the only dentist that seen Allan, yes.

6 Q. You described that you recommended that you see him
7 every six months is a result of his use of tobacco
8 and for what other reason?

9 A. He had periodontal inflammation or gum bleeding.

10 Q. Was that the primary condition for which he was
11 being treated during the period of time that he was
12 under your care?

13 A. Yes.

14 Q. All right, And by that I mean, there were no
15 special bite adjustments or special problems with
16 which you were dealing prior to 1990?

17 A. Nothing more than a few routine fillings.

18 Q. Other than cleaning, was there any active treatment
19 that he was receiving prior to 1990 for the
20 periodontal condition?

21 A. One of the problems that Allan had was he
22 accumulated heavy tobacco stains on his teeth, which
23 are irritating to the gums, and our treatment, one
24 of our treatments to address that was to remove that
25 heavy accumulation and that's part of the cleaning

1 procedure. 'That's one of the reasons we wanted him
2 to return every six months.

3 Q. Was there any course of treatment that you had ever
4 recommended to him which he did not accept'?

5 A. We had discussed a missing tooth, which was allowing
6 his bite to shift on the lower left side, and the
7 notation will tell you **that** we discussed our
8 recommendation for replacing the missing tooth on
9 his lower left several times.

10 Q. Which tooth was missing?

11 A. Tooth No. 19.

12 Q. Prior to 1990 had you ever observed anything of a
13 suspicious nature in the examination of Allan Boyd
14 which would cause you to be concerned about oral
15 cancer?

16 A. No, there was not.

17 Q. Doctor, as you sit here today do you have any
18 independent recollection of any of the visits with
19 Allan Boyd?

20 A. Do I remember seeing Allan or talking to him?

21 Q. Yes, right.

22 A. Yes.

23 Q. You are able to recall who he was?

24 A. Yes.

25 Q. How he was visually and actually seeing him there in

1 your chair!

2 A. Yes.

3 Q. Let's go to the visit of January 30, 1990. If you
4 would take a look at your record. This was a
5 periodic examination, a recall examination'?

6 A. Correct.

7 Q. It was one of those examinations which you
8 recommended every six months but it had been a year
9 since he had seen you?

10 A. Yes.

11 Q. There had been a recall examination in January of
12 1989 as well?

13 A. Correct.

14 Q. When he came in in January of 1990, did you have the
15 occasion to examine **him**?

16 A. Yes, I did.

17 Q. What was the reason that he came into the office, if
18 you know?

19 A. Simply routine examination and cleaning.

20 Q. Okay. I'm unable to read your notations under that
21 January 30th, 1990 visit, and as I understand **your**
22 testimony, that is written on the original record in
23 green, correct?

24 A. Yes.

25 Q. And that means that your hygienist was writing it,

1 but that it would have been written as a result of
2 your dictation or instruction to her, correct?

3 A. Yes.

4 Q. All right. Now, I see some handwriting above an
5 area that is crossed out here?

6 A. Yes.

7 Q. Can you tell me what was crossed out?

8 A. Yes. He should have -- he was scheduled and never
9 showed up for a visit on 7-20-89, and the hygienist
10 had just prior to his appointment time, written in
11 what she was going to do and then subsequently
12 crossed it out.

13 Q. Okay. Now, how do you know that there had been an
14 appointment for 7-20-89 and that he had failed to
35 appear?

16 A. If you will check the billing record.

17 Q. Showing you what has been marked for identification
18 purposes as Dr. Parsanko Deposition Exhibit 1, is
19 that your billing record?

20 A. Yes, it is.

21 Q. And essentially it is a two-page record **as** it has
22 been presented to me?

23 A. Yes.

24 Q. And the notation under 7-20-89 shows a no show,
25 correct?

1 A. Correct.

2 Q. Now, do you have any independent recollection that
3 the hygienist started to write something under there
4 and crossed it out'?

5 A. I went back to her and she did remember writing
6 examination, pro the normal things that she had
7 planned to do that day for Allan.

8 Q. And we see that it was written and crossed out in
9 green indicating that she herself did that, correct?

10 A. You can see her initials under '89 -- well, you
11 might be able to discern LK, which are her initials.

12 Q. Who is that?

13 A. Linda Knapik, K-n-a-p-i-k.

14 Q. Doctor, the handwriting which is above the area that
15 has been crossed out, does that pertain to the
16 January 30, 1990 visit'?

17 A. Yes.

18 Q. Would you read for me, if you can, Linda Knapik's
19 notation under the January 30, 1998 visit?

28 A. We did an examination, pro --

21 Q. And let me interrupt you as we go. You did the
22 examination which you have previously described as
23 generally done on a recall exam, right?

24 A. Yes.

25 Q. And pro indicates what?

- 1 A. Prophylaxis.
- 2 Q. And that indicates what, means what'?
- 3 A. Cleaning.
- 4 Q. Following that?
- 5 A. Two BW, stands for two bite-wing x-rays. Pano is
- 6 panoramic x-ray.
- 7 Q. Let me stop you there and **ask** you why those x-rays
- 8 were performed?
- 9 A. It's general policy in our office to take a
- 10 panoramic x-ray every five years of a patient that
- 11 shows lesions or problems that don't show up in any
- 12 other x-ray, and on a regular basis, every five
- 13 years we take that for general examination purposes.
- 14 Q. Do you take it to demonstrate soft-tissue lesions?
- 15 A. It won't show soft-tissue lesions.
- 16 Q. When you say it will show lesions, what do you mean'?
- 17 A. If you have an intrabony lesion or you have a tooth-
- 18 related abnormality, it will show in a bigger
- 19 picture than the small bite-wing x-rays. It's a
- 20 larger scope picture.
- 21 Q. All right. You took -- or you had those x-rays
- 22 taken, and they would have been read while he was
- 23 there?
- 24 A. Yes.
- 25 Q. What is the next notation then'?

1 A. Tobacco stains.

2 Q. Is there an ET, is that what I read, prior to
3 tobacco?

4 A. I see that, and I'm not sure what that is.

5 Q. Following the pano, which indicates a panoramic
6 x-ray, there is a mark and what appears to be an
7 ET, does it not?

8 MR. FAHCHIONE: Or LT?

9 A. Oh, light tobacco. 'That's what it is, yes. Thank
10 you.

11 Q. (BY MR. YOUNG) Light tobacco means light tobacco
12 stain?

13 A. Yes.

14 Q. Okay.

15 A. And then tight, and I'm not sure what the IP
16 notation is. I will have to ask Linda. And that is
17 calc, c-a-l-c, calculus. It's interproximal, I'm
18 sorry. Interproximal.

19 Q. Light interproximal calculus?

20 A. On the lower anteriors.

21 Q. What does that mean?

22 A. The lower anterior teeth.

23 Q. And the calculus is the --

24 A" It's a hard calcified secretion that builds up on
25 the teeth.

1 Q. Does that indicate that you had been unable to
2 remove it with cleaning?

3 A. These are actually pre --

4 Q. I see. Pre-cleaning observations?

5 A. Right.

6 Q. All right. Continuing then.

7 A. And upper right quadrant, the gums were swollen.
8 No. 22 and No. 23 gum areas are -- they bleed
9 easily. And what we did is we reviewed the
10 importance and techniques of flossing, that's REV,
11 flossing, and then she also notes between No. 2 and
12 No. 3, the gum was swollen, and then in parentheses,
13 which indicates a restorative need that's
14 unfulfilled and **we** are trying to schedule -- she
15 writes No. 7, we need to patch the facial aspect of
16 the tooth. And then after that, we will do it at
17 the next six-month visit.

18 Q. Were his teeth cleaned at this visit?

19 A. Yes. The pro indicates that was a procedure that
20 was completed.

21 Q. Doctor, as you sit here **today**, do you have any
22 independent recollection of this occasion of January
23 30th, 1990 when Allan Boyd was examined?

24 A. I don't specifically remember anything about that
25 visit that stands out as unusual or abnormal or

1 notes would have been written concerning any unusual
2 or abnormal situation or observance.

3 Q. All right. I take it then that in general you have
4 a certain procedure or practice in your office which
5 you believe that you would have followed on this
6 occasion. One of your practices is that any
7 relevant findings would have been indicated
8 on your record, correct?

9 A. I think you can see that the abnormalities in the
10 gums were noted and anything that we see that's out
11 of the ordinary or abnormal is always noted.

12 Q. Okay. That is your practice, anything that is
13 relevant is noted on your chart?

14 A. Yes.

15 Q. Prior to your deposition today have you reviewed
16 anything other than your records concerning this
17 case?

18 A. I have no other information about this case.

19 Q. All right. You haven't had the occasion to review
20 any records concerning any medical treatment of
21 Allan Boyd?

22 a. No, I haven't seen those.

23 Q. And that is true at any point in time from January
24 38th of 1990 until today?

25 A. Correct.

1 Q. Have you had the occasion **to** discuss this case with
2 anyone since January of **1990**?

3 A. Only with Mr. Farchione.

4 Q. Have you discussed **it** with Mr. Farchione with any
5 other people present?

6 A. Yes. You had an associate with you one day.

7 MR. FARCHIONE: One of our new
8 attorneys, **Dirk** Riemenschneider, was with
9 us on the initial visit.

10 Q. (BY MR. YOUNG) Have you ever discussed **the** matter
11 with Mr. Farchione with Mr. Murphy present, Pat
12 Murphy, red-headed gentleman?

13 A. No.

14 MR. FARCHIONE: Likes to golf.

15 A. I'm sure I would have remembered **if** he was there.

16 Q. (BY MR. YOUNG) Have you ever discussed it with
17 Attorney John Jackson present?

18 A. No.

19 Q. Have you discussed the matter, Allan Boyd, in any
20 way with Dr. Bert Brown?

21 A. No.

22 Q. Have you discussed Allan Boyd or any of his
23 treatment with Dr. Alonso?

24 A. No, I haven't.

25 Q. Have you discussed this case with any other dentists

1 or physicians?

2 A. No, I haven't.

3 Q. *So* the only information you have is information
4 which you obtained from your attorney or from your
5 records, correct'?

6 A. Correct .

7 Q. As you sit here today, do you now know that Dr.
8 Brown performed a biopsy on Allan Boyd's tongue in
9 November of 1989?

10 A. Yes, I do know that.

11 Q. Essentially your attorney has told **you** that?

12 A. Yes.

13 MR. FARCHIONE: I also object because
14 it says it in his chart, too.

15 Q. (BY MR. YOUNG) I don't believe **it** says that, but
16 essentially, as you sit here today, you know that
17 biopsy **was** taken in November of 1989, correct?

18 A. Yes.

19 Q. Have you had the occasion **to** review any depositions
20 in this case of anyone?

21 A. No, I haven't,

22 Q. No other materials other than your records?

23 A. Correct.

24 Q. When you examined Allan Boyd in January of 1990, did
25 you examine -- do you believe that you examined his

1 tongue'?

2 A. I always do the examination the same way. I'm sure
3 I did.

4 Q. All right. You have no specific recollection of
5 having done that, however, is that fair?

6 A. I can't remember much about that other than what I
7 -- it's just my general policy.

8 Q. It is your general policy to do this. You don't
9 specifically recall what you would have recalled on
10 that day other than the fact had you observed
11 something which you felt would have been relevant,
12 you would have wrote it down?

13 A. Correct.

14 Q. All right. On January 30th of 1990 if you examined
15 the tongue of Allan Boyd, did you observe any
16 lesions of any sort?

17 A. I just said I don't remember any particulars of that
18 specific visit but if I would have observed a
19 lesion, I certainly would have written it down.

20 Q. All right. You don't recall any specific
21 conversation with Allan Boyd in January of 1990, do
22 you?

23 A. I'm sorry. On what date?

24 Q. In January of 1990.

25 A. I don't recall any specific conversation. Normally

1 if a patient has anything relevant or uncomfortable
2 that they relate to me, those notes are made in the
3 chart and specific attention is paid to areas that
4 are brought to my attention by a patient.

5 Q. Knowing now that Dr. Brown performed a biopsy on
6 Allan Boyd's tongue in November of 1989 and that you
7 examined him on January 38th of 1990, are you able
8 to draw any conclusions concerning Allan's tongue in
9 any way from the notations in your record?

10 A. From the record of 1-30-90?

11 Q. From your records in any way.

12 I'm asking what conclusions you are able to draw
13 from the records before you, knowing there was a
14 biopsy in 1989?

15 A. I've never seen a biopsy report. I'm not familiar
16 with what was done or what was specifically the
17 finding of that biopsy.

18 Q. All right. As we look at your records concerning
19 the January 1990 visit, you have no reason to
20 believe that there was anything suspicious or which
21 would have concerned you on that date, is that
22 correct?

23 A. Correct.

24 Q. I see from your record that there is a visit on May
25 7th, 1990. Can you tell me how he came to be in

1 your office on that date'?

2 A. Mr. Boyd scheduled an appointment with me **to** finally
3 talk about making a bridge, and his main complaint
4 was, "My tongue has been getting sore from rubbing
5 across that single tooth that remains back there,"
6 and so we discussed what kind of problems he was
7 having and my notes are on there on that visit.

8 Q. Now, as you sit here today do you have any
9 independent recollection of your conversation with
10 Allan Boyd on that day separate and apart from what
11 you have written in your record?

12 A. I have some recollection of that visit, yes.

13 Q. All right. Would you read for me the notations of
14 May 7th, 1990.

15 A. Allan saw the oral surgeon **fox** a sore on his tongue,
16 on the left side, and it was Dr. Brown, and Dr.
17 Brown took a biopsy. The patient said everything
18 was all okay, looks to me like inflamed lingual
19 tonsil. Told him that replacing missing No. 19 will
20 probably not help much, and then a notation, I
21 remember him still asking, well, let's finally **do**
22 this bridge, and I quoted him \$1,300 for the bridge
23 that spans 18 through No. 20.

24 Q. Did you treat him in any way on that day?

25 A' No. I examined him.

1 Q. Did you in any way smooth any rough surfaces of any
2 teeth on that day?

3 A. I don't recall doing that, no.

4 Q. Is it possible that you did that?

5 A. I probably -- I would say I would have written that
6 down if I had smoothened a tooth or aligned a tooth
7 or replaced a filling or done some actual treatment
8 on a tooth.

9 Q. Do you recall any conversation with Allan Boyd on
10 May 7th, 1990 other than what is written on the
11 record which you have just read for us?

12 A. I do remember him telling me that he called Dr.
13 Brown an oral surgeon. I think I found **out** now that
14 he is an ENT, is that right? And my first thought
15 was to get a biopsy, but he said he had just **had it**
16 biopsied and that Dr. Brown had told him that
17 everything was okay. And it's after looking at a
18 slight redness on the side of the tongue, I **was** not
19 very alarmed by it, especially knowing that it had
20 been biopsied and okayed, and told him to keep me
21 informed and to call after several weeks **if** it had
22 not resolved itself.

23 Q. You recall telling him that?

24 A. That's general policy that we make in the office
25 too, if there **is** an unresolved problem that someone

1 comes to see me about, that we always ask them to
2 call and let us know the status of the problem, if
3 it hasn't resolved itself.

4 Q. All right. Do you recall telling him that on that
5 date?

6 A. Yes, I do.

7 Q. Is there any other conversation that you recall
8 having occurred on May 7th?

9 A. Just an elaboration about the bridge that maybe is
10 not clear through the notes, and that is that he
11 really came in and was insistent upon having the
12 bridge made and I at that point said if his tongue
13 was a little sore, I did not see anything concerning
14 the missing bridge which may contribute to the
15 redness on the tongue, **and** he did then request a
16 pre-estimation be sent to his insurance company for
17 determination of benefits.

18 Q. I think you testified that your first impression
19 upon observing the condition was that a biopsy
20 should be done but he advised you that one had been
21 done?

22 A. Any kind of a red or inflamed area is always cause
23 for concern and obviously there are many kinds of
24 red lesions, some which are innocuous and some which
25 are more serious, and I was unsure of this one and

1 when he said that he had just had it biopsied and
2 seen a specialist, I **was** not immediately going to
3 send him back for another biopsy.

4 Q. All right. in any event, you would not have done
5 the biopsy, would you?

6 A. No, I would not Rave.

7 Q. You would have referred him for a biopsy?

8 a. Correct.

9 Q. And that would be your general practice upon
10 observing this suspicious lesion, to make a
11 referral, and the biopsy and additional diagnostic
12 work would have been done by the specialist to whom
13 you referred him?

14 A. Correct.

15 Q. You have described to us the appearance of the
16 lesion as being red or inflamed?

17 A. Yes.

18 Q. Can you tell us where that inflammation was located?

19 A. Posterior left lateral border of the tongue.

20 Q. Posterior left --

21 A. Lateral border.

22 Q. And was it adjacent to the area of the missing
23 tooth 19?

24 A. Actually, the last -- adjacent to the last remaining
25 tooth, tooth No. 18.

1 Q. How do you recall that?

2 A. My notes about lingual tonsils being inflamed, the
3 location of the lingual tonsils are opposite tooth
4 No. 18.

5 Q. Does the inflammation of the lingual tonsil indicate
6 anything to you in general?

7 A. It shows inflammation. I can't describe an etiology
8 simply from an observation, but he was a smoker, he
9 had a sore throat at the time, he said that it **just**
10 wasn't -- he had had it for several weeks he said.
11 I guess it was just something I thought I would
12 observe for several weeks and see if it resolved
13 itself because of a cold or upper-respiratory
14 infection.

15 Q. You indicated that he was a smoker. How **was** that
16 relevant?

17 A. Smokers are at risk for upper-respiratory infections
18 or irritation or inflammations of oral mucosa.

19 Q. When you observed this condition, did you observe
20 the size of the inflammation?

21 A. I don't recall exactly.

22 Q. When you first observed this condition, he was
23 complaining of pain and the possibility that the
24 condition might have arisen from the missing tooth,
25 is that correct?

1 A' Correct .

2 Q. And he was concerned with having a bridge made in an
3 attempt to correct the soreness or the pain that he
4 was feeling, correct?

5 A. Yes.

6 Q. Did he tell you how long he had been experiencing
7 this condition?

8 A. I don't recall. This is the first time I remember
9 him complaining about it.

10 Q. Did you inquire?

11 A. I don't remember.

12 Q. When the question of the biopsy arose, was it a
13 question which arose **as** a result of your suggestion
14 that perhaps there should be a biopsy of the area?

15 A. I think it just arose when I said **has** anyone ever
16 looked at this before, and he said Dr. Brown, he
17 called him an oral surgeon, has **just** recently done a
18 biopsy of it.

19 Q. When he said just recently, did he tell you when
20 that biopsy had been performed?

21 A. I don't recall. I don't have a date written down
22 if he did.

23 Q. Did you inquire *io* your knowledge concerning when
24 the biopsy had been performed?

25 A. I'm sure I did. I didn't write anything down

1 though.

2 Q. Did it occur to you as you examined him in May of
3 1990 that the inflammation could indicate a
4 suspicious lesion or could indicate the possibility
5 of oral cancer'?

6 A. Again, I think I would have felt more strongly about
7 getting another biopsy if I had felt that it was
8 that suspicious.

9 Q. We have qualified that by indicating "that
10 suspicious." Did it indicate to you that it could
11 be a suspicious lesion, that it could indicate
12 possibly oral cancer in May of 1990?

13 MR. FARCHIONE: Objection.

14 A. I don't have any notes that suggest that it looked
15 like cancer. I have notes that said it looked like
16 an inflamed lingual tonsil to me.

17 Q. (BY MR. YOUNG) And when you say it looked like an
18 inflamed lingual tonsil to you, that inflamed
19 lingual tonsil could be indicative of many
20 conditions, could it not?

21 A. It could be, yes,

22 Q. Some of which would be benign, or not threatening,
23 some of which could be cancerous or life
24 threatening, correct?

25 A. Yes.

1 Q. Do I understand from your note that **you** concluded on
2 May 7th, 1990 that you had no reason to fear oral
3 cancer as a result of your examination on that date?

4 A. Correct.

5 Q. What was your basis for concluding that?

6 A. No. 1, my observation, and No. 2, that there had
7 been recent biopsy of exactly that area and the
8 results were negative.

9 Q. And when you say there are two parts to that answer,
10 the first is your observation. What is **it** about
11 your observation that would have supported a
12 conclusion that this area presented nothing to
13 fear?

14 MR. **FARCHIONE**: Objection to the
15 phraseology of nothing to fear.

16 A. En my opinion it looked like something less serious.
17 It looked like an inflamed lingual tonsil.

18 Q. (BY MR. YOUNG) But your testimony is that an
19 inflamed lingual tonsil is consistent with both a
20 benign condition and a cancerous condition, correct?

21 A. An inflamed lingual tonsil is not a cancerous
22 condition.

23 Q. Is it benign by definition?

23 A. Yes.

25 Q. All right.

1 A. If I thought it looked like a squamous cell
2 carcinoma or something like that, my notes and
3 diagnosis on the notes would have indicated that
4 thought, but it just didn't look like that to me.

5 Q. Are you able to differentiate between squamous cell
6 carcinoma and an inflamed lingual tonsil by clinical
7 examination?

8 A. I don't think you can definitively diagnose it that
9 way.

10 Q. All right. 'The only definitive diagnosis is by
11 biopsy?

12 A. By biopsy.

13 Q. So there was nothing about your observation on May
14 7th, 1990 which would have permitted you to
15 definitively rule out oral cancer, correct?

16 A. Correct.

17 Q. The only way in which you can clearly rule out oral
18 cancer would be by biopsy, correct?

19 A. Correct.

20 Q. Which brings us to the second element of the reason
21 for your conclusion, and that is that there had been
22 a biopsy of this area, correct'?

23 A. Yes.

24 Q. And Allan Boyd told you that Dr. Brown had performed
25 that biopsy?

1 A. Yes.

2 Q. You don't recall him telling you when, and you don't
3 recall inquiring as to the period of time that had
4 transpired between the biopsy and your examination,
5 correct?

6 A. I just don't remember that.

7 Q. You don't recall asking him how long it had been
8 present, correct?

9 A. The lesion'?

18 Q. Yes.

11 A. I don't remember.

12 Q. Do you recall whether you asked him whether there
13 had been any change in the lesion from the time it
14 had first presented?

15 A. I can't remember.

36 Q. You did not ever talk with Dr. Brown about this
17 condition, did you?

18 A. No, I didn't,

19 Q. You didn't call him?

28 A. No, I didn't.

21 Q. You didn't receive a copy of the pathology report?

22 A. No, I didn't.

23 Q. Never talked to Dr. Alonso?

24 A. No, I didn't.

25 Q. You never reported your findings to Dr. Brown, did

1 you?

2 A. No, I didn't.

3 Q. Do you recall giving any special instructions to
4 Allan Boyd on May 7th. 1990?

5 A. Yes, I do. I recommended him to stop smoking, as I
6 always did, and I asked him to please call in two
7 weeks if this problem has not resolved itself.

8 Q. Did you make any indication on any record in your
9 office indicating that you had advised him of that
10 in that manner?

11 A. No, but that's standard policy, that with any visit
12 or someone who is complaining about something, **we**
13 always ask them *to* call in **two** weeks if the problem
14 **is** not better for further investigation.

15 Q. That is your standard practice?

16 A. Yes, it is.

17 Q. Is it your practice to follow up in any manner
18 from your office, to initiate a call?

19 A. Not normally.

20 Q. Did you have any further contact with Allan **Boyd**
21 after May 7th. 1990?

22 A. No, I did not.

23 Q. Have you ever had the occasion to talk with anyone
24 in his family about him?

25 A. No, I have not.

1 Q. Do I understand your testimony to be that there was
2 simply an inflammation of the tongue on May 7th of
3 1.990 and that you observed no white plaque
4 condition?

5 MR. FARCHIONE; Objection.

6 A. No, I don't recall any white plaque lesion of any
7 kind.

8 Q. (BY MR. YOUNG) All right. And as you sit here
9 today, you don't recall the size of the inflammation
10 that you observed in May of 1990, correct?

11 A. No, I don't.

12 Q. You do recall talking with Allan Boyd and his
13 indication that the area from which we was feeling
14 pain was the same area that had been biopsied by Dr.
15 Brown?

16 A. Yes.

17 Q. Since January of 1990 have you discussed with any
18 dentist or physician at any time the responsibility
19 of a dentist to examine a patient for oral cancer?

20 A. The responsibility, I don't think I have.

21 Q. All right. By that I mean, you have not discussed
22 this case with any potential expert witnesses?

23 A. No, I have not.

24 Q. Any other physicians or dentists concerning the
25 responsibility of a dentist to examine for oral

1 cancer?

2 A. No, I haven't.

3 Q. Had you ever observed a white plaque condition of
4 the tongue with regard to Allan Boyd?

5 A. No, I don't remember seeing anything like that.

6 Q. If you had observed white plaque on the tongue of
7 Allan Boyd, would you have made a notation of that?

8 a. Yes, I would have.

9 Q. Why would you make such a notation?

10 A. I think if it was, as I discussed with you, any
11 abnormal tissue or lesion, I would have made a note
12 under routine examination notes.

13 Q. What I'm trying to understand, Doctor, is whether in
14 the examination of a patient, you become involved in
15 the elimination of certain conditions as suspicious
16 lesions, and by that I mean, if you observe an
17 inflammation or you observe a white plaque lesion or
18 a lesion of the mouth, do you simply make a referral
19 and let someone else become involved in the
20 diagnosis of the condition, the elimination of the
21 possibility that it would be malignant?

22 MR. FARCHIONE: Objection. Go ahead,
23 Doctor.

24 A. Occasionally I see lesions that I do not send for
25 biopsy. Certain things such as abrasions, burns,

aphthous ulcers, that may have to do with a change in the bacterial floor or fauna of the mouth that may resolve itself' within a period of days or weeks with the elimination of the original causation.

If you scratch yourself, it's going to heal within two or three days, in that area, and the inflammation or injury will naturally heal. If you have an aphthous ulcer, they resolve themselves in five to seven days. If you have a sore throat or a cold, many times you will have pustules on the tonsils or on the roof of the mouth that resolve themselves when the cold goes away, so normal things that may not be -- abnormal things that may not be serious, we will sometimes watch.

It's not normal practice to biopsy things that you suspect are normal lesions that will go away within several days or weeks.

Q. All right. Over what period of time will you observe the condition to determine whether you believe it is a suspicious lesion?

A. Probably anywhere from two to four weeks.

Q. You now know that hllan Boyd had been biopsied by Dr. Brown in November of 1989, do you not?

A. Yes.

Q. And it had been six months between the period of

1 time of the biopsy and your examination of this
2 inflamed condition, correct?

3 A. Yes.

4 MR. FARCHIONE: Objection. Because
5 there were two -- Okay. I'm sorry. I withdraw
6 that objection.

7 Q. (BY MR. YOUNG) I'm talking about the May
8 examination, not the January.

9 a. I know that now, yes.

10 Q. Had you known that in May of 1990, would you have
11 made a referral of Allan Boyd for further
12 investigation of the condition by a specialist?

13 A. I think I would have, yes.

14 Q. Had you known that, would you have advised him to go
15 back and see Dr. Brown at that point in time?

16 A. I probably would have.

17 Q. All right.

18 MR. FARCHIONE: Could we go back to
19 that original question that started this?
20 I may have missed something on
21 that.

22 (Question read by reporter.)

23 MR. YOUNG: We lose the clarity of our
24 original question with the record.

25 MR. FARCHIONE: Do you understand the

question? He said if you would have known in May of 1990 the biopsy was taken in November of 1989, would **you** have referred him off at that visit in May of **19907**

That's where I got confused. That's what I think he is asking in that question.

A. It's sort of a hypothetical thing. Now that I know --

Q. (BY MR. YOUNG) Let me make it clear for the written record because it's become somewhat confusing.

As we sit here today, you know that the biopsy taken by Dr. Hrown was taken in November of 1989, correct?

A. Yes.

Q. Had you known on May 7th. 1990 that the biopsy of this lesion of the tongue had been done some six months earlier, would you have referred him for further diagnostic work on the area?

A. Again, I sort of stick to my original notes and that was that I thought it was just a lingual tonsil and if it was indeed that, it probably would have resolved itself in two weeks, and if it had not, I probably would have referred him back to Dr. Brown.

Q. Well, we know that the area that you observed was

1 the area which had been biopsied by Dr. Brown in
2 November of 1989, correct?

3 A. At that point I wasn't sure when the biopsy was
4 done. He said a recent biopsy, and he said the
5 results were negative, that everything was okay from
6 that area.

7 Q. I want you to listen to the question now. We know
8 as we sit here today that the biopsy of the area
9 that you were observing on May 7th, 1990 had
10 actually been performed by Dr. Brown in November of
11 1989, correct'?

12 A. Yes.

13 Q. And we know that the area had continued to bother
14 Allan Boyd during that period of time?

15 MR. FARCHIONE: Objection.

16 Q. (BY MR. YOUNG) Do we not?

17 A. I'm not sure we do know that.

18 Q. Then I won't use that as an element for my question.

19 Had you known on May 7th, 1990 that the biopsy
20 had actually been performed in November of 1989,
21 would you have referred Allan Boyd for further
22 diagnostic treatment?

23 A. I don't think I really have enough information from
24 watching the lesion long enough. I saw it one time.
25 It was the only complaint he's ever had about it.

1 He assured me that biopsies and other specialists
2 had okayed it. I think I would have followed it a
3 little longer before I referred him back to Dr.
4 Brown, just to make sure it wasn't something fairly
5 innocuous.

6 Q. You keep making the point that Allan Boyd had told
7 you that he had had a recent biopsy.

8 A. Right.

9 Q. But the note in the record does not say recent, does
10 it?

11 A. Uhn-uhn.

12 Q. Is that correct?

13 A. Correct.

14 Q. And we know today that that biopsy had actually
15 occurred some six months prior, had it not?

16 A. Yes.

17 Q. In your opinion, is six months prior sufficiently
18 recent for you to discount malignancy in the area
19 that you observed?

28 MR. FARCHIONE: Objection. He's
21 already answered that question a couple
22 times.

23 MR. YOUNG: I don't think he's
24 answered that.

25 MR. FARCHIONE? I think he has. He's

1 repeated that he would have followed the same
2 course and followed up in a couple weeks,

3 MR. YOUNG: No.

4 Q. (BY MR. YOUNG) Do you understand the question'?

5 A. I do, and I don't understand malignancy enough.
6 It's not my specialty to determine if he had a
7 malignancy or not.

8 Q. And I'm not trying to be unfair to you. What I'm
9 saying is you have said several times in your
10 testimony that Allan Boyd had indicated to you that
11 he had a recent biopsy, correct?

12 A. Correct.

13 Q. In May of 1990 would you have considered a biopsy
14 six months prior a recent biopsy'?

15 MR. FARCHIONE: Objection.

16 A, I'm not -- I don't think I was aware of exactly how
17 long ago the biopsy was taken. It was taken even
18 prior to my routine examination appointment on which
19 no notes were made about any complaints or any
20 abnormalities, so I'm not sure I remember him
21 telling me exactly how long ago his biopsy had been.

22 Q. (BY MR. YOUNG) That's not my question. **You** have
23 made the point that it was a recent biopsy, that he
24 told you he had had a recent biopsy.

25 A. Right.

1 Q. And ~~my~~ question is, as we sit here today, does
2 recent mean to you as a dentist involved in the
3 practice of general dentistry, mean six months?

4 A. I think I would have considered recent being shorter
5 than that, less time than that, yes.

6 Q. All right. Does a continued presence of a painful
7 condition of the tongue, an inflammation **for** a
8 period of **six** months, present you with a warning
9 sign?

10 A. Yes.

11 Q. All right. **Had** you known on May 7th, **1990** that the
12 biopsy had actually been done in November of **1989**,
13 what would have been your course of treatment?

14 MR. FARCHIONE: Objection. Asked and
15 answered. Answer it again, Doctor.

16 A. Again, I'm not -- I'm just not sure what -- As far
17 as the question goes, is this **a** hypothetical
18 question, like if I knew then what **I** know now, would
19 I have made a different judgment?

20 Q. **(BY MR. YOUNG)** No. Let me ask the question to
21 make it clear if it is not. In your testimony you
22 have indicated that two things enabled you **to**
23 conclude that this **was** an inflamed lingual tonsil
24 and not a condition which would cause ~~alarm~~; those
25 two things being your observation of the

1 inflammation, and the fact that there had been a
2 recent biopsy which had indicated that that condition
3 was okay.

4 A. Correct.

5 Q. Your testimony has been that from your observation,
6 your clinical observation alone, you cannot
7 eliminate a potential cancerous lesion, correct?

8 A. Correct .

9 Q. And so you were able to conclude that the condition
10 presented no cause for alarm as a result of a recent
11 biopsy.

12 A. Yes.

13 MR. FARCHIONE: Objection.

14 Q. (BY MR. YOUNG) All right. Now. I'm asking whether
15 had you known that that recent biopsy **had** actually
16 been a biopsy some six months prior **to** your
17 examination, you would have come to the same
18 conclusion and that was that there was no cause for
19 alarm or referral at that point in time?

20 MR. FARCHIONE: Objection.

21 A. I'm just not sure what I would have done at that
22 point.

23 Q. (BY MR. YOUNG) All right. Thank you. I have
24 nothing further.

25 MR. FARCHIONE: He'll read it.

I have read the foregoing transcript of my deposition
taken on Wednesday, August 4, 1993 from page 1 to page 63
and note the following corrections:


PAGE:	LINE:	CORRECTION:	REASON:
-------	-------	-------------	---------

RICHARD L. PARSANKO, DDS

1 THE STATE OF OHIO,)
2) SS: CERTIFICATE
3 COUNTY OF CUYAHOGA.)

4 I, James M. Mizanin, a Notary Public within and
5 for the State of Ohio, duly commissioned and
6 qualified, do hereby certify that RICHARD L.
7 PARSANKO, DDS was by me, before the giving of his
8 deposition, first duly sworn to testify the truth,
9 the whole truth, and nothing but the truth; that the
10 deposition as above set forth was reduced to writing
11 by me by means of Stenotype and was subsequently
12 transcribed by means of computer-aided transcription
13 under my direction; that said deposition was taken
14 at the time and place aforesaid pursuant to notice
15 and agreement of counsel; and that I am not a
16 relative or attorney of either party or otherwise
17 interested in the event of this action.

18 IN WITNESS WHEREOF, I hereunto set my hand
19 and seal of office at Cleveland, Ohio, this 12th
20 day of August, 1993.



21 James M. Mizanin, RPR, CM, Notary Public
22 Within and for the State of Ohio
23 444 Terminal Tower
24 Cleveland, Ohio 44113

25 My Commission Expires: January 25, 1998.