

IN THE COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO

DOC 351

WILBUR HOFELICH, et al.,       )  
                                  Plaintiffs,       )  
                                  vs.                        ) CASE NO. 167165  
MANOR CARE OF NORTH            )  
OLMSTEAD, INC., et al.,        )  
                                  Defendants.        )

Deposition of J. JOSEPH PAYTON, D.O., a  
Witness herein, called by the Plaintiff for  
cross-examination pursuant to the Rules of Civil  
Procedure, taken before me, the undersigned, Laura E.  
Pavlick, an RPR and Notary Public in and for the State of  
Ohio, at the offices of J. Joseph Payton, D.O., 50 North  
Miller Road, Akron, Ohio, on Friday, the 2nd day of  
March, 1990, at 2:10 o'clock p.m.

---

COMPUTERIZED TRANSCRIPTION BY  
BISH & ASSOCIATES, INC.  
524 Society Building  
Akron, Ohio 44308  
(216) 762-0031

10



## APPEARANCES:

## On Behalf of the Plaintiff:

Messrs. Stigi, Delbaum &amp; Hickman Co. L.P.A

By: Charles Delbaum, Attorney at Law  
 Standard Building, Suite 1620  
 1370 Ontario Street  
 Cleveland, Ohio 44113-1701

## On Behalf of the Defendant Dr. Suntala:

Messrs. Jacobson, Maynard, Tuschman &amp; Kalu

By: Robert Seibel, Attorney at Law  
 and  
 Steve Hupp, Attorney at Law  
 14th Floor, 100 Erieview Plaza  
 1301 East 9th Street  
 Cleveland, Ohio 44114

## On Behalf of the Defendant Manor Care of North Olmstead:

By: Burt Fulton, Attorney at Law  
 6th Floor Bulkley Building  
 Cleveland, Ohio 44115

- - -

I-N-D-E-X

EXAMINATIONPAGE

By Mr. Delbaurn

3

By Mr. Fulton

77

- - -

1 JOHN JOSEPH PAYTON, D.O.  
2 of lawful age, a Witness herein, having been first duly  
3 sworn, as hereinafter certified, deposed and said as  
4 follows:

5 CROSS-EXAMINATION

6 BY MR. DELBAUM:

7 Q. Would you state your full name for the  
8 record, please,

9 A. John Joseph Payton,

10 Q. And you are a physician; is that correct?

11 A. I am,

12 Q. Dr. Payton, my name is Charles Delbaum, we  
13 have just been introduced, I represent the Plaintiffs in  
14 the action concerning which you are here to testify  
15 today. During the course of my questioning if I ask you  
16 any questions that you don't understand, will you tell me  
17 that, please?

18 A. I will.

19 Q. Have you been deposed previously?

20 A. For this case?

21 Q. No, in any case.

22 A. Um-m, yes, I have,

23 Q. Okay. You understand that another ground  
24 rule that is useful to remember is answer questions  
25 verbally rather than by nodding your head so the Court

1 Reporter can get it down accurately,

2 A. Okay.

3 Q. It's fine if you also nod your head at the  
4 same time. Tell me a little about some of the other  
5 cases you have testified in, What kind of cases have  
6 those been?

7 A, To the best of my recollection, I have  
8 testified twice. Once was a case involving, in a life  
9 insurance policy that a daughter was trying to collect on  
10 her deceased father; and the other was in a case of the  
11 mental competence of a patient that had a will drawn up  
12 at the last moment.

13 Q. Okay" And that's the only testimony you've  
14 given by way of deposition or trial testimony has been in  
15 those cases?

16 A. I believe so.

17 Q. Okay. Have you written reports in medical  
18 negligence cases previously?

19 A. I have.

20 Q. About how many times?

21 A. About average probably of one a year for the  
22 last ten years.

23 Q. Okay, Have those been primarily for  
24 plaintiff or defense or some of each?

25 A. Some of each.

1 Q. Can you estimate approximately how many of  
2 each?

3 A. I think I have written for the defense in  
4 the majority of the cases, probably nine. Say if I have  
5 done it ten times, I would say nine of them. I can only  
6 recall once when I felt that there was a reason to  
7 support the plaintiff.

8 Q. Okay, Have you been contacted by plaintiffs  
9 attorneys on other occasions and declined to prepare  
10 reports for them?

11 A. By this plaintiff's attorney?

12 Q. No, no,

13 A. Oh, by any.

14 Q. Any plaintiffs attorneys.

15 A. As a matter of fact, I have one right now  
16 from an attorney in Youngstown, frankly I just don't  
17 think I am going to have the time to respond to him. But  
18 that's the only one that I may have turned down.

19 Q. Okay, Tell me what journals regarding  
20 geriatric medicine you read regularly, please,

21 A. The only journals that I read regularly are  
22 the American Family Physician, which of course includes  
23 geriatrics, and to a lesser extent, Post Graduate  
24 Medicine and GAMMA. Those are my regular journals.

25 Q. Are there any texts in particular that you

1 consult when you have a problem in geriatric medicine  
2 that you feel you need to learn a little bit more about?

3 A. No, I can't say that I consult any textbook  
4 per se other than say Harrison's Medical Textbook, but  
5 not -- I cannot recall pulling out a textbook that said  
6 "geriatrics" on it.

7 Q. Any textbook that you consult on geriatric  
8 topics even if the title doesn't say geriatrics other  
9 than Harrison, which you mentioned?

10 A. It would be general medical textbooks.

11 Q. Okay. Do you have a file that you have  
12 developed in this case including the records that you've  
13 looked at and the depositions and so forth?

14 A. I have information that was sent to me by  
15 the attorneys here piled on the floor.

16 Q. May I see what you have, please?

17 A. (Witness handing documents to Attorney  
18 Delbaum.)

19 Q. Thank you, At least one of these documents  
20 has some red underlining. Is that your underlining or --

21 A. Yes,

22 Q. -- someone else's? Okay.

23 A. As I read that, I believe I used a red pen  
24 to emphasize things that I wanted to talk about.

25 Q. Okay. Did you receive everything that I'm

1 looking at now before you wrote your report, or you  
2 received -- other than some correspondence, or have you  
3 received some additional records or documents since you  
4 wrote your report?

5 A. No, I have not received additional documents  
6 since I wrote my report.

7 Q. One of the documents right here on the top,  
8 and the one I was referring to when I asked you about  
9 underlining was Fairview General Hospital Clinical Resume  
10 signed by Dr. Suntala for February and March, 1989,

11 I can show it to you so you know what I am  
12 talking about.

13 A. As far as I know there was just one mailing,  
14 so I should have seen this before I wrote my report.

15 Q. That -- in your report you list what you've  
16 read and that's not among the documents. Let me show you  
17 your report so that you don't go on my word.

18 A. You may be right. Now, I still would have  
19 to say that since this is underlined in red I assume I  
20 had this when I wrote that letter --

21 Q. Okay,

22 A. -- even though it's not listed in the  
23 letter, And it's, what, three pages long, four,  
24 something like that.

25 MR. SEIBEL: For the record, I brought the



1 original, or not the original, but the complete Fairview  
2 General Hospital chart with me today and we did spend  
3 some time before the deposition going over it,

4 MR. DELBAUM: Okay. And this Fairview  
5 General Hospital chart that you're referring to that you  
6 went over today is for which admission?

7 MR. SEIBEL: From I believe for every  
8 admission since October of 1987,

9 MR. DELBAUM: Okay.

10 MR. SEIBEL: You want to look? And I have  
11 this notebook here.

12 MR. DELBAUM: No, no, you don't have to  
13 show me.

14 BY MR. DELBAUM:

15 Q. I am going to ask you some questions about  
16 your report, so why don't I ask you to hold onto that for  
17 a moment, put all this back the way it was,

18 A. More of my red ink.

19 Q. Right. These are your handwritten notes?

20 A. Uh-huh.

21 Q. Is this just a draft of the --

22 A. That was a draft of the letter that you have  
23 typed in your possession, Thank you for not commenting  
24 on the penmanship,

25 Q. The thought ---

1 MR. SEIBEL: He is not finished.

2 MR. FULTON: It's not over yet.

3 BY MR. DELBAUM:

4 Q. -- crossed my mind to ask you whether your  
5 secretary was really able to type this or whether you did  
6 it yourself, but it's none of my business, so I can't  
7 ask,

8 A. I will answer that, I put it on a word  
9 processor myself, it helps me organize my thoughts.

10 Q. I am going to hand this back to you so that  
11 you can refer to anything if you need to.

12 Preliminarily let me ask you before we get  
13 to the records, though, I believe you know Dr. Bob  
14 Norman, who will be the Plaintiff's expert in this case;  
15 is that correct?

16 A. I do.

17 Q. You serve on some committee with him?

18 A. I do.

19 Q. What committee is that?

20 A. Bob and I both attend a nursing home here in  
21 town and we are members of the Utilization Review  
22 Committee for that nursing home. So we see each other  
23 maybe, oh, twenty minutes every month or every other  
24 month or something like that,

25 Q. Are you familiar with his reputation in

1 addition to knowing him through this Utilization Review  
2 Committee?

3 A. Not really, The unfortunate thing there is  
4 that Bob attends at a different hospital than I do. He  
5 goes to Akron City Hospital, I go to Akron General, so I  
6 can't say that I know his medical reputation,

7 Q. What is your impression of his abilities  
8 based upon your contact with him at the Utilization  
9 Review Committee meetings?

10 MR. SEIBEL: Objection. Go ahead, Doc.

11 THE WITNESS: Based on what I know of  
12 Bob's work in the nursing home where we both attend, I am  
13 not aware of any, any problems at all with his medical  
14 care,

15 BY MR. DELBAUM:

16 Q. To the best of your knowledge is he well  
17 respected by your peers in the community?

18 MR. SEIBEL: In the area of medicine?

19 BY MR. DELBAUM:

20 Q. Yes.

21 A. That's hard for me to answer simply because  
22 I am not, I am not on the same staff that Bob is on. So  
23 I -- I certainly can say nothing bad about Bob Norman.

24 Q. Okay, Do you have any reason to believe  
25 that he would have any bias in favor of patients who have

1       been injured by physicians as against physicians?

2           A.           I have no --

3                       MR. SEIBEL:   Objection,   Go ahead.

4                       THE WITNESS:   I have no reason to think  
5       that.

6       BY MR. DELBAUM:

7           Q.           What do you know about his experience in  
8       caring for the elderly?

9                       MR. SEIBEL:   Objection.

10                      THE WITNESS:   Okay.   Once again, my  
11       experience with Bob is limited to the fact that we both  
12       have patients in a nursing home,   So obviously he takes  
13       care of patients in Valley View and I am aware of that.  
14                      I am also aware that he advertises himself  
15       as a geriatrician, I believe.   And other than that, I  
16       can't comment for you.   I don't even know where Bob's  
17       office is, frankly.   I mean, it's not like we are close  
18       friends,

19       BY MR. DELBAUM:

20           Q.           I understand.   What do you mean by he  
21       advertises himself as a geriatrician?   Where does he  
22       advertise?

23           A.           I think if you were to look in the Phone  
24       Book, I think Bob would be listed as a geriatrician.

25           Q.           Okay.   So you mean that he claims that he is

1 a specialist in geriatric medicine?

2 A. No, I didn't say that. I said that he says  
3 that he is a geriatrician, I am not aware either whether  
4 Bob has his specialty boards in geriatrics.

5 Q. I didn't ask you whether you are aware of  
6 that, but only --

7 A. Oh.

8 Q. -- whether he claimed that he was a  
9 specialist in geriatric medicine?

10 a. No, I am -- okay, I don't, to my knowledge  
11 Bob doesn't call himself a specialist. Could we check  
12 the Phone Book? I could find out the answer to that.

13 Q. If you want to, it's not necessary to do it  
14 now.

15 A. Okay, all right. Do you understand the  
16 distinction I am trying to make?

17 Q. Yes, I do.,

18 A. Okay,

19 Q. In your experience with him at the same  
20 nursing home where you attend, you have not seen anything  
21 which would indicate to you that he does not have good  
22 judgment?

23 MR. SEICEL: Objection. Go ahead,

24 THE WITNESS: you are correct.

25 BY MR. DELBAUM:

1 Q. Have you written any articles or given any  
2 speeches on the subject of the care of the elderly?

3 A. No.

4 Q. Do you have a curriculum vitae available?

5 A. I may. I had to do one recently for the  
6 Summit County Mental Health Board, so I thought I knew  
7 where that was. It's not the kind of thing that I have  
8 very -- yes. I can get this copied for you if you would  
9 like.

10 Q. That would be helpful. In the meantime, if  
11 I could just look at it that would be fine,

12 A. Thank you,

13 MR. FULTON: If you copy it I would like  
14 an extra copy, if you don't mind.

15 THE WITNESS: Okay.

16 BY MR. DELBAUM:

17 Q. What courses have you taught as an  
18 instructor at any medical college?

19 A, I am an instructor with the Northeast Ohio  
20 Universities College of Medicine, and in that capacity  
21 every Wednesday afternoon that I am in town I teach  
22 family practice residents at the West Side Family  
23 Practice Center,

24 Q. You listed this in your curriculum vitae as  
25 1976 to 1987. Was that because this was prepared in

1 1987?

2 A. That's probably -- that's an old one.

3 Q. So you are still doing this?

4 A. That's right,

5 Q. And what areas do you teach these students  
6 about?

7 A. Family medicine.

8 Q. Everything from birth to death?

9 A. That's right, Teaching on Wednesday  
10 afternoons involves occasional lectures, but mostly  
11 reviewing tapes and monitoring television, live  
12 encounters of resident physicians with patients and then  
13 discussing the cases with the residents.

14 Q. What percentage of the time that you spend  
15 teaching is spent on the subject of geriatric medicine?

16 A. I would guess fifteen percent, twenty  
17 percent, something in that neighborhood.

18 Q. Okay. Is that a pure guess or is that an  
19 estimate?

20 A. I am not sure I understand the distinction.

21 Q. Well, I suppose I could guess that it would  
22 take 20 hours to drive to Dallas from Cleveland, but  
23 never having done it I couldn't give you a reasonable  
24 estimate.

25 A. Oh, okay, I think that's a reasonable

1 estimate.

2 Q. Okay. What percentage of the time that you  
3 spend teaching these residents is on the subject of the  
4 care of decubitus ulcers?

5 A. That would be an infrequent topic in  
6 ambulatory medicine,

7 Q. This does not concern the care of patients  
8 in nursing homes, your teaching work?

9 A. That's correct, that's correct,

10 Q. Do you do any teaching that relates to the  
11 care of the elderly in nursing homes?

12 A. On the whole, no, The exception would be  
13 that if a resident in training had made nursing home  
14 rounds that day and I was the instructor on that  
15 afternoon and they came back to the center with any  
16 questions, I would be available to answer those  
17 questions. And that would be the only interaction I  
18 would have in teaching.

19 Q. Okay, And that happens to you --

20 A. That happens very infrequent,

21 Q. Okay. You have listed certain affiliations  
22 that you have in this document including Rockynol  
23 Retirement Home, Valley View Nursing Home, The Arbors at  
24 Fairlawn, As of the time that this curriculum vitae was  
25 prepared were those the only facilities for the elderly,



1 specifically for the elderly, with which you were  
2 affiliated?

3 A. At that time. I am currently also the  
4 Medical Director for ChambrEl.

5 Q. What is that?

6 A. Another nursing home in the area.

7 Q. Spell that for me, please.

8 A. C-H-A-M- -- I am sorry, it's Windsong at  
9 Chambrel, W-I-N-Dsong at Chambrel, C-H-A-M-B-R-E-L.  
10 And I am also the Medical Director of the brand new  
11 nursing home called Copley Health Center, C-O-P-L-E-Y.  
12 That's since this thing was made out,

13 Q. Okay. That was going to be my next  
14 question. Are you still affiliated with Rockynol, Valley  
15 View and Arbors?

16 A. That's right.

17 Q. Okay. Are you medical director at all of  
18 those or --

19 A. Medical Director at the last two that I  
20 mentioned to you.

21 Q. Okay, The two that were not on this  
22 document?

23 A. Right.

24 Q. What kind of a facility is Windsong at  
25 ChambrEl?

1           A.           It's a 75 bed skilled nursing facility.

2           Q.           And how long have you been Medical Director  
3 at Windsong?

4           A.           Since it opened, roughly two years.

5           Q.           Were you -- strike that. Did you have any  
6 patients at Windsong at Chambrel before -- well, strike  
7 that again. You said since it opened,

8           A.           Right.

9           Q.           So obviously you have many patients there.  
10 How about Copley Health Center, when did that open?

11          A.           Um-m, I believe August, August or September  
12 of 1989. We have a grand total of I think eight patients  
13 there right now,

14          Q.           And what type of facility is that?

15          A.           Again, skilled nursing. We just received  
16 Medi-Care, Medicaid approval and got the place certified  
17 about two weeks ago finally.

18          Q.           Of the patients at Windsong, approximately  
19 how many are under your care directly?

20          A.           25.

21          Q.           As of the spring of 1988, approximately how  
22 many patients did you have at Rockynol?

23          A.           Spring of '88?

24          Q.           Yes.

25          A.           Oh, my. I have no way of knowing back then.

1 Q. How many do you have now?

2 A. This is an estimate. Fifteen,

*Rubyhall  
now*

3 Q. Over the past two or three years has that  
4 varied substantially?

5 A. No.

6 Q. Or is that approximately what it's been?

7 A. That stays fairly constant.

8 Q. And how about: for Valley View, about how  
9 many do you have there now?

10 A. Twelve, I think, eighteen.

11 Q. Is that also a figure that stays roughly  
12 constant?

13 A. Yes, it does,

14 Q. Thank you, Are those both skilled nursing  
15 homes?

16 A. They are.

17 Q. And The Arbors at Fairlawn, what type of  
18 facility is that?

19 A. Again, a skilled nursing facility,

20 Q. About how many patients do you have there  
21 now?

22 A. Ten,

23 Q. Is that also roughly constant over the last  
24 two or three years?

25 A. That's right.

1 Q. When did you first start seeing patients in  
2 nursing homes?

3 A. Um-m, when I was a resident at Akron  
4 General, which would be 1973.

5 You mentioned in your CV that you have a  
6 minimum of 50 post graduate class hours per year since  
7 1976 for prescribed credit, Does that continue to be  
8 true --

9 A. That's right,

10 Q. -- until today? Can you give me an estimate  
11 of the mix of those classes? What fields do they cover?

12 A. I think it would be fair to say that they  
13 cover all of the aspects of family medicine, except I  
14 have in recent years, for the last five or six years  
15 taken very few courses in obstetrics because I stopped  
16 doing obstetrics.

17 Q. Can you estimate the approximate percentage  
18 of those classes that deal with geriatric medicine?

19 A. I would estimate twenty percent of the  
20 classes and lectures that I attend have to do with  
21 geriatric medicine.

22 Q. Can you recall when you last attended a  
23 class for -- or a lecture on the subject of the care of  
24 decubitus ulcers?

25 A, Can't say that I can recall when, no.

1 Q. I would like to have that marked as Exhibit  
2 1 when we have a photocopying machine available.

3 A. Okay. Don't even want it updated?

4 Q. Well, you don't have an update, I take it.

5 A. No, I don't. This is the last time I  
6 printed something like that up, it was a couple years  
7 ago,

8 Q. Have you written any articles or given any  
9 speeches on the subject of medical/legal problems?

10 A. No, sir.

11 Q. Do you know Dr. Suntala?

12 A. No, I do not, other than to see his name, of  
13 course, in all. this literature.

14 Q. Sure, You have never had any personal  
15 contact with him?

16 A. No.

17 Q. Would you tell me about how you spend a  
18 typical day in your practice of medicine?

19 A. Late,

20 Q. Okay. Let's start in the morning. What do  
21 you do typically in the morning?

22 A. All right, I begin at 7:30 on the average  
23 making rounds at Akron General Medical Center. Very  
24 seldom do I have children to see at Children's, but if I  
25 do then I leave General and go to Children's, and then

1 try to make it out here to the office by 9 o'clock.

2 I see private patients between 9 and  
3 12:30, break between 12:30 and 1:30, and then see  
4 patients between 1:30 and 5. And spend usually the next  
5 two hours trying to get the paperwork done that's on the  
6 desk here, and hopefully I am home by 7 or 8, average  
7 night.

8 Q. And is that true Monday through Saturday,  
9 Monday through Sunday, Monday through Friday?

10 A. Monday through Friday. And Saturday I am  
11 here, again, same time with rounds in the morning, but I  
12 start my office hours at 9:30 and work until noon.

13 MR. FULTON: You should write that up  
14 immediately and send it to the young lawyers in our firm.  
15 BY MR. DELBAUM:

16 Q. You didn't mention in how you spend your  
17 time when you get to the nursing homes to see the  
18 patients that you have in the nursing homes. Where does  
19 that fit in?

20 A. Okay. Wednesday afternoons, as I mentioned  
21 to you, I am teaching down at West Side. Every other  
22 Wednesday I leave the office, I don't come to the office  
23 at all on Wednesday, and I am at nursing homes every  
24 other Wednesday. I share that responsibility, my nursing  
25 home patients with Dr. Myers, who is here in the building

1 with me. And a lot of my nursing home rounds are also  
2 made after, after 7 or 8. You know, not usually that  
3 late, because many times they're in bed. After say 6:30  
4 or so, if I get out of here I will go to the nursing home  
5 on an evening.

6 Q. Having nothing to do with any noise that's  
7 been in the room in the last couple of minutes ago, I am  
8 just not sure if I really followed your Wednesday  
9 schedules. And let me try to state it, tell me if I am  
PO wrong, That every other Wednesday you are at the nursing  
11 homes --

12 A. In the mornings,

13 Q. -- in the mornings.

14 A. Until 2 o'clock when I get to Akron General  
15 for teaching.

16 Q. Okay. And every other Wednesday other than  
17 the alternate Wednesday, you don't go to the nursing  
18 homes at all?

19 A. Unless I would go in the evening.

20 Q. Okay. Is there any difference in approach  
21 to the care of decubitus ulcers between osteopathic  
22 physicians and medical doctors?

23 A. I hope not.

24 Q. You are not aware of any?

25 A. No.

1 Q. Do you have any Board certifications?

2 A. I do.

3 Q. In what?

4 A. I am Board certified in family practice. I  
5 was **first** Board certified in 1976, and then recertified  
6 in 1982 and recertified in 1988.

7 Q. And by whom are you certified?

8 A. The American Board of Family Practice.

9 Q. Can you estimate for me the total number of  
10 Alzheimer's disease patients that you have cared for at  
11 nursing homes in the past ten years?

12 A. I -- no, I can't, I can tell you that  
13 currently of those numbers that I gave you of nursing  
14 home patients, it may be a good estimate to say that 40  
15 percent of them have Alzheimer's.

16 Q. But you are unable to estimate at all the  
17 total number of Alzheimer's disease patients that you  
18 have cared for in your practice of medicine?

19 A. I am -- that's right, Ten years is too much  
20 water over the dam.

21 Q. How about in the past five years?

22 A. I think my Alzheimer's must be affecting me.  
23 No, I really couldn't give you a reasonable guess on that  
24 either,

25 Q. Of the patients you're caring for now who



1 have Alzheimer's disease, can you estimate approximately  
2 how many have, or what percentage have some kind of  
3 cardiovascular disease?

4 A. Mo, I can't give you an estimate on that, 1  
5 think the reason is that I don't necessarily equate one  
6 with the other, so I would really have to go through  
7 records to give you a reasonable estimate.

8 Q. Can you estimate for me what percentage of  
9 the Alzheimer's disease patients you are caring for now  
10 have Alzheimer's disease as advanced as Mr. Hofelich's  
11 was in the spring of 1988?

12 A. You know, I'm not sure I can answer that  
13 either, not having seen Mr. Hofelich or having any, any  
14 data that shows a mental status exam on him. I don't  
15 know how advanced his Alzheimer's was specifically at  
16 that time, so I think that's not, not something I should  
17 try to answer.

18 Q. In evaluating the care that Dr. Suntala gave  
19 to Wilbur Hofelich, you took into account the severity of  
20 his Alzheimer's disease, did you not?

21 A. Yes.

22 Q. What was your understanding of it for  
23 purposes of evaluating Dr. Suntala's care?

24 A. Okay, From what I could see from the  
25 records, certainly the man did have advanced Alzheimer's

1 disease. Now, how I could compare that to other patients  
2 that I have, I don't know not having seen him myself.

3 Q. What were his symptoms or problems that led  
4 you to conclude that he had advanced Alzheimer's disease  
5 in the spring of 1988?

6 A. Okay. There were indications in the nurses  
7 records that he was confused, he was disoriented, he was  
8 sometimes belligerent, he was abusive, He had short-term  
9 memory. For instance, they would try, germane to this  
10 case, they would try to position him and tell him to stay  
11 in the position, and obviously he wouldn't for any length  
12 of time. Probably because he couldn't remember that he  
13 was supposed to stay in position.

14 He, there were indications in the chart --  
15 well, I think that's enough.

16 Q. Okay. What percentage of the Alzheimer's  
17 disease patients that you are caring for now are  
18 confused, disoriented, sometimes belligerent or abusive  
19 and have short-term memory loss?

20 A. Well, I think that most of them are  
21 confused, disoriented and have short-term memory loss.  
22 The belligerence is a variable factor.

23 Q. Is the belligerence a sign of Alzheimer's  
24 disease being more advanced than the early stages?

25 A. Not necessarily.

1 Q. So there is nothing about Mr. Hofelich's  
2 mental status, as you were aware of it when you were  
3 evaluating the care, that would indicate to you whether  
4 his Alzheimer's disease was more or less advanced than  
5 most of the patients you care for?

6 A. Once again I think that's hard for me to  
7 answer not having seen or examined that man myself. I  
8 really would hesitate to compare him with patients whom I  
9 have seen.

10 Q. Well, my question was whether there was  
11 anything you knew about him to make it perhaps -- let me  
12 withdraw that, perhaps it wasn't clear enough,

13 The question was whether you knew anything  
14 about him from the records and Dr. Suntala's deposition  
15 and the other materials you had, which indicated to you  
16 that his disease was more advanced than the Alzheimer's  
17 disease of most of the patients you care for?

18 A. Anything to indicate it was more advanced?  
19 I really -- than most of the patients that I care for. I  
20 think that's a fair statement to make,

21 Q. That -- I am sorry?

22 A. Your question was, is there anything from my  
23 reading the records that would make me think that his  
24 Alzheimer's was not any more advanced than most of the  
25 Alzheimer's patients that I have taken care of?

1 Q. No, actually it was that question, but  
2 without the "not". Was there anything to --

3 A. Make me think that it was more advanced?

4 Q. Right. Let me say it again, because we have  
5 gone around several times and I don't want the question  
6 to be unclear. Was there anything in the records or the  
7 depositions that you read which led you to conclude that  
8 his Alzheimer's disease, Mr. Hofelich Alzheimer's disease  
9 was more advanced than the Alzheimer's disease of most of  
10 the patients that you care for?

11 A. That is correct, there was nothing in those  
12 records.

13 Q. Okay. Of the Alzheimer's disease patients  
14 that you care for yourself currently, do any of them have  
15 decubitus ulcers which are more than an inch in diameter?

16 A. No.

17 Q. Have you had Alzheimer's disease patients  
18 who are under your care from the time they enter the  
19 nursing home until the time they left, for whatever  
20 reason, who have had decubitus ulcers which grew to more  
21 than an inch in diameter?

22 A. I have.

23 Q. Okay. About how many?

24 A. We are asking again over a period of ten'  
25 years?

1 Q. Yes.

2 A. This is difficult to substantiate, but I  
3 would say maybe a half dozen in that many years.

4 Q. And of those, how many had decubitus ulcers  
5 that were more than two inches in diameter on the  
6 surface?

7 A. Golly. I can think of perhaps two.

8 Q. Can you recall what other illnesses those  
9 individuals, that we have now narrowed down to  
10 approximately two, had other than their Alzheimer's  
11 disease?

12 A. No. The first one I can't, it was too many  
13 years ago. The more recent one, she had cerebral  
14 vascular disease, stroke and her Alzheimer's and  
15 decubitus, which was complicated by mocking an  
16 osteomyelitis.

17 Q. Where was this decubitus that was larger  
18 than two inches for this lady?

19 a. On a foot.

20 Q. On her ankle or some other part of the foot?

21 A. I believe it did start on the ankle.

22 Q. What efforts had you made while she was your  
23 patient to avoid having it get larger?

24 A. Removal of any pressure to the area is I  
25 think the most important thing always, treatment with

1 topical antibiotics is an iffy sort of thing whether it  
2 helps, but it's something that we always do.

3 Q. Excuse me, Doctor. I wasn't asking you  
4 about what you think the treatment should be or the  
5 general standard and so forth --

6 A. All right.

7 Q. -- but rather what you did for this  
8 particular patient,

9 A. Okay. And eventually she was treated with  
10 intramuscular antibiotics.

11 Q. What, if anything, did you do to monitor the  
12 stake of her nutrition during the period of time the  
13 decubitus was developing?

14 A. Very little, because the family had already  
15 decided that they did not want me to use any internal  
16 feeding methods, and so monitoring her nutrition would  
17 have been an exercise in futility, and I think  
18 unnecessarily costly,

19 Q. You can monitor nutrition through blood  
20 tests, also, correct?

21 A. That's correct,

22 Q. Was there any reason not to do that?

23 A. Right, because I wouldn't have had any way  
24 to correct it.

25 Q. I see,

1           A.           Family did not want her fed by a tube, they  
2 didn't want IVs, so why should I, in her case, find out  
3 something that I can't correct?

4           Q.           Was she eating as much as she possibly  
5 could?

6           A.           No, not at all.

7           Q.           Oh, she wasn't eating at all?

8           A.           Very little.

9           Q.           Would you agree with me, based on your own  
10 experience, that decubitus ulcers larger than two inches  
11 are rare in the average nursing home?

12          A.           I think rare is a proper term.

13          Q.           Okay, Having reviewed the nursing home  
14 charts for the care of Wilbur Hofelich at Manor Care of  
15 North Olmstead and Dr. Suntala's deposition, are you able  
16 to determine with hindsight whether there is anything  
17 that could have been done to prevent the deterioration of  
18 Wilbur Hofelich's decubitus ulcer that wasn't done?

19                       MR. SEIBEL: Objection.

20                       THE WITNESS: No, I'm not. Even with  
21 Monday morning quarterbacking and hindsight, I feel as  
22 though his care was proper.

23                       BY MR. DELBAUM:

24          Q.           That wasn't my question,

25          A.           I feel as though there was nothing that

1 could have been done to prevent the course of events.

2 Q. Had you thought about that question before I  
3 asked it of you just now, or was that something you  
4 hadn't thought about previously?

5 A. I think that was a question that I had to  
6 put in my mind when I picked up this chart, You know,  
7 what went wrong here and what could have been prevented?  
8 So surely I had thought of that question as I read  
9 through those charts.

10 Q. Fine. Frankly I assumed you had, and what I  
11 was going to ask you is can you tell me what some of the  
12 possibilities were that you run through your mind and  
13 decided would not have helped here?

14 A. Okay. As that question was in my mind in  
15 reading through the charts, I would look at things that  
16 were done for him in terms of efforts made to keep him  
17 off of his decubitus area, efforts made to try to keep  
18 him dry, The indication in the record that he was  
19 eating, and in fact his wife was feeding him. I looked  
20 at the reports that were called to Dr. Suntala from time  
21 to time and the timeliness of his response. And I looked  
22 at the number of visits that Dr. Suntala had made, and I  
23 really found nothing objectionable.

24 Q. Do you have any basis on which to conclude  
25 that the nursing home could not have kept him off his



1 back more than they did?

2 MR. PULTON: What was that question? Wait  
3 a minute, read that question back, please.

4 (The previous question was read back.)

5 BY MR. DELBAUN:

6 Q. You can answer that.

7 MR. FULTON': That's kind of like a double  
8 negative almost, but it isn't -- go ahead.

9 THE WITNESS: Shall we rephrase it and say  
10 do I have any basis to conclude that the nursing home --  
11 you are right, it is a double negative,,

12 BY MR. DELBAUM:

13 Q. We can phrase it differently. Do you have  
14 any basis on which to conclude that the nursing home kept  
15 him off his back to the maximum extent that was feasible?

16 A. Okay. Yes, sir. I think that there is  
17 reasonable documentation that those nurses were trying  
18 and had their plan of care written out to keep him on a  
19 position other than on his back. They had wedged pillows  
20 in, for instance, to try to keep him on his side. There  
21 was evidence that they tied a hand across the bed to try  
22 to keep him up on his side.

23 There is even notations that they had him  
24 in his -- out of the bed and in a chair, which would have  
25 put some of the pressure on his lower quads instead of on

1 his coccygeal area. And as you're aware, the family  
2 didn't want him out of bed. There is some notes in there  
3 that the wife evidently wanted him taken from the chair  
4 and put back in bed quite frequently,

5 Q. What is the basis for your understanding  
6 that a wedged cushion was used as much as it could be?

7 A. Just seeing that it was mentioned in the  
8 nursing notes as part of their care.

9 Q. Was it documented that it was used  
10 throughout the several months before Mr. Hofelich went to  
11 the hospital in May of 1988?

12 A. I can't answer that. I don't know what  
13 times it was, it was specifically mentioned.

14

15

16

17

18

19 happened in your practice?

20 A. Estimation, three or four times in ten  
21 years,

22 Q. And what were the signs or symptoms that led  
23 you to conclude that a surgical consult was appropriate?

24 A. When the amount of the necrotic tissue just  
25 obviously becomes overbearing to the point where it's

1 obvious that medical debridement is not going to do it,  
2 elase and wet to dry dressings and things like that.

3 And if you realize that, you know, over a  
4 period of time you are not getting anywhere with that,  
5 then I guess it's time to throw in the towel and ask a  
6 general surgeon to take some of the dead tissue away.

7 Q. How long do you consider it appropriate to  
8 try the elase or other non-surgical debridement  
9 techniques before deciding to throw in the towel?

10 A. I think that's just an individual judgment  
11 that you would have to make when you see that things are  
12 getting out of hand or that you're not -- that you're not  
13 getting anywhere. And I don't think there is any limit  
14 of time that you could set on that, because it varies so  
15 widely in terms of how fast they develop in different  
16 people.

17 Q. Assume that you are using elase and the  
18 decubitus doesn't respond by improving, but rather  
19 continues to deteriorate over a period of three or four  
20 days,

21 A. Uh-huh.

22 Q. Would you feel that was enough time to have  
23 tried the elase before deciding that a surgical  
24 consultation was appropriate?

25 MR. SEIBEL: Wait. Are you saying no

1 improvement or deterioration?

2 MR. DELBAUM: Deterioration.

3 MR. SEIBEL: Okay.

4 THE 'WITNESS: No, sir. I can't imagine  
5 that three or four days is enough to make any rapid  
6 decisions. These things are so slow in developing and so  
7 slow in healing that I would be hesitant to say that  
8 three or four days, or even one or two weeks, would make  
9 a whole lot of difference one way or the other in a  
10 decubitus ulcer.

11 BY MR. DELBAUM:

12 Q. So you would continue to try elase for as  
13 long as two weeks, even though the decubitus ulcer  
14 continued to get worse while you were using the elase; is  
15 that correct?

16 A. You're asking me to put a time on something  
17 that when I really, really don't see the actual  
18 situation, and that's difficult. But I see no problem  
19 with two weeks, if that's your question.

20 As an example, Mr. Hofelich took like  
21 eight months to heal after his ulcer was debrided, I  
22 believe, if I read the records correctly.

23 Q. Which records did you read that led you to  
24 conclude that?

25 A. The nursing home record. I mean, after his

1 surgery in May of '88, that area wasn't healed over until  
2 about the spring of the next year.

3 Q. Is that based on his Fairview General chart  
4 excerpt from February of '89?

5 A. No, no, that would be the nursing home  
6 records,

7 Q. Well, the nursing home records only go to  
8 February -- I am sorry, the nursing home records only go  
9 to my of '88.

10 MR. SEIBEL: You are forgetting that Dr.  
11 Payton was provided with the deposition of Dr. Santiago.  
12 I believe that is reflected in Dr. Santiago's deposition,  
13 BY MR. DELBAUM:

14 Q. Is that what you are referring to, Dr.  
15 Santiago's deposition?

16 A. I imagine that's where it came from. My  
17 point in bringing that up is these are slow to form, slow  
18 to heal, Maybe, you know, no way that we should put a  
19 time limit on an individual ulcer.

20 Q. Okay. In your practice do you have any  
21 general procedure that you follow with respect to how  
22 frequently you visit a patient who has a decubitus ulcer  
23 that is increasing in size and is purulent?

24 A. I would say it's fair to say that I see my  
25 nursing home patients every, roughly, 28 to 30 days

1 regardless of their condition. If their condition  
2 becomes acute and it's something that needs more frequent  
3 visits than that, normally they belong in an acute care  
4 hospital.

5 As -- just to give you an aside on that,  
6 if we visit nursing home patients that are on Medicaid  
7 more often than every 28 days we have to write a letter  
8 of explanation to the government begging permission to  
9 charge for having seen the patients more frequently than  
10 28 days and explain why. Quite frankly, it isn't worth  
11 it.

12 Q. It isn't worth it to write the letter or --

13 A. It's more --

14 .. -- or isn't worth it to make the visit?

15 A. It isn't worth it to write the letter, to do  
16 all the government paperwork involved.

17 Q. If I understood what you said correctly, and  
18 please tell me if I didn't, you don't see patients in  
19 nursing homes more frequently than once every 28 to 30  
20 days?

21 A. On the, on the average, that's correct,

22 Q. And if they have an acute condition which  
23 requires, in the nursing homes you -- strike that. If  
24 they have an acute condition which seems to require the  
25 attention of a physician, your view is that they belong

1 in a hospital?

2 A. In many cases. There are exceptions when I  
3 will make a special trip to the nursing home.

4 Q. Under what circumstances do you make a  
5 special trip?

6 A. Oh, my. When the nurse hears pulmonary  
7 noises that she thinks are indicative of pneumonia. I  
8 can't frankly ever -- I don't think that I have ever made  
9 a special trip to go see an ulcer, if that's getting down  
10 to what you're really asking me,

11 Q. Can you tell me what tunneling is in  
12 connection with the decubitus ulcer?

13 A. I may have mentioned that in the letter. I  
14 think tunneling is a disease process where an ulcer may  
15 appear on the surface to be at a certain stage of decay,  
16 and yet tunneled underneath that surface appearance there  
17 may be much more decay than is visible on top.

18 Q. How can you tell if tunneling is going on  
19 underneath necrotic tissue?

20 A. Monday morning quarterbacking. Again, you  
21 find out after the fact when the good tissue on top that  
22 covers up the tunneling finally rots and decays and opens  
23 up, and then you find out that the things are worse  
24 underneath.

25 Q. Are there any indications that tunneling is

1 probably going on that a physician can look for when  
2 examining decubitus ulcer that has a necrotic top?

3 A. I can think of none other than if the area  
4 became very fluctuant, you may guess it then, If you  
5 press on it and you felt a great area of fluctuance  
6 underneath, or liquid, you may get the idea then. But  
7 other than that, I can't think of anything from physical  
8 examination that would tell you that tunneling is going  
9 on.

10 Q. Is assessing the possibility of fluctuance  
11 in connection with the decubitus ulcer something that  
12 R.N.s are competent to do generally, in your experience?

13 A. I would think so.

14 Q. How about L.P.N.s?

15 A. It just depends. It would depend on their  
16 training.

17 Q. On how skilled --

18 A. That's right,

19 Q. -- and how well trained they were? And the  
20 same -- strike that,

21 How about nurses aids? You wouldn't  
22 expect they would be competent to do that, would you?

23 A. No, I wouldn't. Nurses aids require very  
24 little medical training, if any,

25 Q. If I understand your conclusion in your



1 report correctly, your opinion is that Dr. Suntala's care  
2 of Wilbur Hofelich met the standard of care for  
3 physicians treating patients in nursing homes: is that  
4 correct?

5 A. I believe so, yes.

6 Q. What is your understanding of the concept of  
7 standard of care in your evaluation?

8 A. The concept of standard of care would mean  
9 that a physician performs his medical duties in a mannex  
10 that is comparable to his peers in that community.

11 Q. After you reviewed the depositions of Dr.  
12 Suntala and so forth as listed in your report, did you  
13 ask for the opportunity to review any of the records from  
14 the Fairview General Hospital before Mr. Hofelich entered  
15 Manor Care in 1987 and in May of 1988 when he went to  
16 Fairview General for surgery?

17 A. Yes, I did that today, He brought them with  
18 him and I looked through them.

19 Q. You had not done that before today?

20 A. That's correct,

21 Q. Was that because you didn't -- is the reason  
22 you hadn't asked for them before today -- strike that.  
23 Did you ask that they be brought today or was this just  
24 something that was done?

25 a. I think it's something that was just done.

1 I may have mentioned in a phone conversation that it  
2 would be nice to see these records, I am not aware.

3 Q. Okay. Having now reviewed them --

4 A. Not that thoroughly, mind you.

5 Q. Okay, Well, let's start with the time you  
6 were preparing the report, you apparently did not feel  
7 that the information in the Fairview General Hospital  
8 charts from 1987 and from May of 1988 would help you in  
9 determining whether Dr. Suntala had treated this  
10 decubitus ulcer properly; is that correct?

11 A. No, that's not. I simply used the  
12 information that was given me to write the report, I  
13 didn't have any opinion as to whether other information  
14 would have been beneficial or not.

15 Q. Having now had a chance to look, albeit  
16 briefly, at the Fairview General Hospital chart, is there  
17 any information in those charts which you believe  
18 supports the opinions that you've given in your report or  
19 opinions that you plan to give at trial?

20 A. Yes. As a matter of fact, what: I was  
21 particularly looking for in Fairview General was whether  
22 or not Mr. Hofelich had proteinuria, It's been surmised  
23 that malnutrition was part of his problem with the  
24 decubitus ulcer, and the question is how does he become  
25 hypoalbumin, how does he -- off the record.

1 (Off the record,)

2 THE WITNESS: I was particularly  
3 interested in those records to find out whether he was  
4 losing protein through his urine. And yes, in fact he  
5 was. He showed anywhere between trace and two plus urine  
6 protein, ~~We~~ also showed an elevated creatinine,

7 Now, if you combined those two things, you  
8 can say with a fair degree of certainty that this fellow  
9 had a nephropathy going on, And if indeed he had a  
10 nephropathy, the protein that they were putting in  
11 through his mouth was getting passed on out through the  
12 urine, which helps explain why his serum protein and  
13 albumin were low on many occasions,

14 So even though his wife was feeding him  
15 and he was eating as well as he should have, that gives a  
16 logical explanation for why his serum protein and albumin  
17 were low,

18 BY MR. DELBAUM:

19 Q. What is the range of normal for protein in  
20 the urine?

21 A. There should be none.

22 Q. Do you know what the range of abnormal  
23 protein in the urine is with the protein nephropathy?

24 A. Any, any protein in the urine is abnormal.

25 Q. Does the amount of protein in the urine, the

1 numbers that you were using initially, trace to two plus  
2 --

3 A. Oh.

4 Q. -- indicate how bad the nephropathy is?

5 A. Not really.

6 MR. SEIBEL: Didn't go in that order, by  
7 the way.

8 MR. DELBAUM: I beg your pardon?

9 MR. SEIBEL: It didn't go in that order.  
10 You said trace to two plus, it was -- it didn't  
11 necessarily -- the first reading wasn't trace and the  
12 last reading wasn't two plus,

13 MR. DELBAUM: Yeah, I understand.

14 BY MR. DELBAUM:

15 Q. What you said was --

16 MR. SEIBEL: Okay.

17 BY MR. DELBAUM:

18 Q. -- they vary between trace and two plus.

19 A. Right.

20 Q. That's all I meant, If it didn't come out  
21 that way, that's the way I meant it. To answer my  
22 question --

23 A. I am sorry.

24 Q. You have forgotten what it is?

25 a. Yeah,

1 Q. Um-m, well, so have I,

2 MR. DELBAUM: Would you read it back,  
3 please?

4 (The previous question was read back,)

5 THE WITNESS: No, that is not a definitive  
6 figure. It's fair to say that one plus protein is worse  
7 than trace, and it's fair to say that two plus is worse  
8 than one plus, but a more definitive thing would be to  
9 have a 24 hour urine collection for total protein.

10 BY MR. DELBAUM:

11 Q. Okay. Without that, is there any way to  
12 estimate about how much protein he was losing in his  
13 urine?

14 A. It would be an absolutely that, an estimate,  
15 through the one plus, trace, two plus method.

16 Q. Okay. Do you have an estimate for how much  
17 protein he was using -- strike that. Do you have an  
18 estimate for how much protein he was losing --

19 A. Losing.

20 Q. -- through his urine in Play of 1988?

21 A. No, sir, I do not,

22 Q. So there is no way for you to conclude that  
23 the nephropathy which you believe he had was having any  
24 significant impact on his ability to retain the protein  
25 that he was eating?

1           A.           I think **it** would be a significant impact.

2           Q.           And how do you define significant?

3           A.           But, well, only because **it** was present and  
4 **it** should not be present at all in the urine, So any  
5 protein loss is significant,

6           Q.           Well, I understand that. But **it** might mean  
7 that there was a one percent, he was losing one percent  
8 of the protein that he was eating through his urine;  
9 isn't that correct?

10          A.           Let's *see*, You're right, I have no way of  
11 knowing from the total amount of protein that he ate how  
12 much was passing through the urine from the tests that I  
13 saw.

14          Q.           Okay. If Wilbur Hofelich had been given  
15 additional protein supplements in May of 1988, April of  
16 1988 while he was **still** at the nursing home, would he  
17 have retained some portion of those additional  
18 supplements despite his nephropathy, or would all the  
19 supplement have gone out in his urine?

20          A.           It, some percentage of **it** certainly would  
21 have **still** gone out in the urine.

22          Q.           So to some extent his protein nephropathy  
23 was treatable by giving him additional protein  
24 supplements?

25          A.           No, that doesn't -- giving additional

1 protein doesn't help the kidneys. They're still going  
2 to, they're still going to lose protein just as fast and  
3 just as much, or even probably more. If he gets more  
4 protein orally he is probably just going to urinate more  
5 of it out.

6 Q. Okay. I misspoke in my question by asking  
7 about treating the nephropathy.

8 A. Okay.,

9 Q. What I should have said is his malnutrition,  
10 to the extent that he didn't have adequate protein in his  
11 bodily systems, in his blood, for example --

12 A. Uh-huh.

13 Q. -- could have been treated to a degree at  
14 least by giving him additional protein supplements  
15 despite the fact that he had a nephropathy?

16 A\* I -- no, that's my point. I think that the  
17 nephropathy may have made it impossible to increase his  
18 serum protein, no matter how much they gave him.

19 Q. You say the nephropathy may have made it --

20 A. Let's even, let's call it the presumed  
21 nephropathy just so we don't get hung up here. My  
22 presumption is he has a nephropathy because of the  
23 elevated creatinine and the protein in the urine.

24 Q. Okay. And then you said that the presumed  
25 nephropathy may have made it impossible for him to retain

1 additional protein even if he had been given protein  
2 supplements?

3 A. Yes.

4 Q. Why is that?

5 a. Well, because there is a certain threshold  
6 above which protein simply spills out in the kidneys, and  
7 once that threshold is reached in the serum of the blood,  
8 everything else just, if you will, tumbles over the dam  
9 and is urinated out.

10 Q. Okay. Now, you have quite correctly  
11 qualified your statement as indicating that it's a  
12 presumed nephropathy we have been talking about.

13 A. (Witness nodding head up and down.)

14 Q. And you are shaking your head yes.

15 A. That's right, yes, I am.

16 Q. What is the probability that he had a  
17 nephropathy, in your opinion?

18 A. Very high probability,

19 Q. Can you quantify that?

20 A. Well ---

21 MR. SEIBEL: All you need to do, Doctor,  
22 is say whether it's greater than 50 percent to make it a  
23 probability.

24 BY MR. DELBAUM:

25 Q. That wasn't my question. My question was



1     whether you can quantify it?

2           A.           I would, I would say it's a very reasonable  
3     assumption that he had a protein losing nephropathy based  
4     on the data that I see in that chart.

5           Q.           You refer in your report to, at page one,  
6     paragraph two, to not placing the blame on his wife for  
7     less than maximal feeding among other things, Do you see  
8     where I am referring to?

9           A.           Uh-huh.

10           MR. FULTON: That isn't a complete reading  
11     of it, Charlie.

12           MR. DELBAUM: Yes, I realize that, I am  
13     just referring to that one particular point.

14     BY MR. DELBAUM:

15           Q.           What leads you to conclude that Mrs.  
16     Bofelich was responsible in any way for his less than  
17     maximal feeding?

18           A.           Let's read that; whole thing. I am not at  
19     all concluding that she is responsible for that.

20           Q.           Well, then let me just ask you whether you  
21     feel that she bears any responsibility?

22           A.           Of course not,

23           Q.           Okay,

24           A.           No. I am using that as an example to say  
25     that it makes no more sense to blame the wife for his

1 illness than it makes sense to blame the physician for  
2 it.

3 Q. Well, I am trying to find out whether in  
4 your understanding of the facts Mrs. Hofelich was  
5 responsible, even though not blameworthy, but responsible  
6 for his less than maximal feeding?

7 FIR. SEIBEL: I can assure you we do not  
8 make that claim.

9 THE WITNESS: That's right,  
10 BY MR. DELBAUM:

11 Q. Okay. Kou do have an understanding that she  
12 was responsible for ordering him out of a chair?

13 A. Yes.

14 Q. Okay. How often is it your understanding  
15 that she ordered him out of the chair?

16 A. I saw it mentioned more than once. I, you  
17 know, and if she actually told the personnel that, I  
98 assume that that, that the personnel would realize that  
19 that was a standing wish of hers.

20 Q. But you don't know that for a fact?

21 A. I don't know that,

22 Q. Whose decision is it whether a Foley  
23 catheter should be removed or not?

24 A. You need an order from a physician for  
25 removal, The only possible -- well, the only possible

1 exception, and that is still covered by physician if they  
2 are standing orders in the nursing home, to remove a  
3 catheter or bladder drain if possible, hut even those  
4 standing orders are signed by the physician.

5 Q. You refer in your report to Mr. Hofelich  
6 having arterial sclerotic disease of his arteries as  
7 demonstrated by a stroke. I am looking at the bottom of  
8 page one, if you want to review that.

9 A. Okay.

10 Q. Okay. And you explain that a stroke  
11 indicates that, you say an artery blocked off blood  
12 supply to the brain, but I assume what you mean is  
13 something blocked off blood supply which an artery would  
14 normally supply to the brain,

15 A. Uh-huh.

16 Q. Correct?

17 A. That's correct.

18 Q. Do you have any information which indicates  
19 to you the extent: of the blockage of the artery to the  
20 brain which resulted in the stroke?

21 A. No.

22 Q. What do you know about the stroke'?

23 A. Only that he had one,

24 Q. Do you know when?

25 A. I would have to review the records to tell

1 you when.

2 Q. Do you know what damage was done as a result  
3 of the stroke?

4 A. He was left, as I recall, hemiparetic,  
5 Again, I would have to review those.

6 Q. Please do. I am --

7 MR. SEIBEL: You mean you want him to tell  
8 you what is in the records?

9 MR. DELBAUM: I want him to tell me what  
10 his understanding of what is in the records is, yes,

11 THE WITNESS: It may take awhile. I think  
12 --

13 MR. SEIBEL: You are wasting your time,

14 THE WITNESS: Was his stroke in the fall,  
15 do you know? I mean, I don't even know where to start  
16 looking.

17 MR. SEIBEL: I will try to find it for  
18 you, Doctor.

19 BY MR. DELBAUM:

20 Q. Okay. While Mr. Seibel is looking for you,  
21 that's fine if he finds it. What if anything do you know  
22 about the extent of the damage to his heart muscle from  
23 the heart attack he had had?

24 A. Once again, just from the records, I took  
25 note that he had had history of myocardial infarction.

1 Q. Okay, You don't know the extent of the  
2 damage to the heart muscle?

3 A. No, I don't,

4 Q. You don't know the extent of the blockage of  
5 the artery to the heart?

6 A. No,

7 Q. You state that in all probability he had  
8 arterial sclerosis in every artery of his body. Can you  
9 quantify the probability that he had arterial sclerosis  
10 in every artery of his body?

11 A. I think that it's fair to say that if he has  
12 demonstrated arterial sclerosis in two distinct separate  
13 parts of the body, the head and the heart, that it's fair  
14 to think that other arteries of his body would be  
15 arterial sclerotic in all probability.

16 MR. SEIBEL: Doctor, I am sorry, I have  
17 found the records that indicate when he had the stroke,  
18 Here's the discharge summary. I believe it's on the  
19 second page towards the middle,

20 MR. DELBAUM: Which discharge summary is  
21 that from Fairview General. Hospital? When?

22 MR. SEIBEL: 2/14/89 admission, 3/24/89  
23 discharge. It happened during this hospitalization,

24 THE WITNESS: Okay. Well, in this, I am  
25 just. reading, ischemic heart disease, unstable angina. I

1 still haven't found a date for the stroke.

2 BY MR. DELBAUM:

3 Q. Let's assume that Mr. Seibel is correct,  
4 that the stroke occurred while he was in the hospital in  
5 1989.

6 A. He had a stroke before that.

7 Q. That was going to be my question. You  
8 believe he had a stroke before the time period we are  
9 looking at, the spring of 1988?

10 A. Yes, I believe so. Let me see if this red  
11 paper helps me. Is this very important to you that we  
12 find this?

13 Q. Why don't we leave this for the end, see if  
14 we can come back to it,

15 A. Okay.

16 Q. Are you able to assess the extent of the  
17 impairment of his circulation as a result of the arterial  
18 sclerosis that he suffered from?

19 A. Am I able to assess the extent of his  
20 impairment --

21 Q. Yes,

22 A. -- due to arterial sclerosis?

23 Q. Yes.

24 A. Other than to tell you that the man had a  
25 very poor quality of life, I mean, he was a bedridden

1 patient,

2 Q. Well, my question was, which maybe I didn't  
3 make clear enough, is are you able to assess whether he  
4 had a ten percent impairment of his circulation, a twenty  
5 percent, a fifty percent, fifty-seven percent?

6 A. No, no, I would not.

7 Q. By the way, on the subject of the  
8 nephropathy we were talking about earlier, do you have an  
9 opinion as to whether the nephropathy, if indeed he had  
10 one, would or would not have been demonstrated on a blood  
11 test if one had been done in April of 1988?

12 A. In April of '88. Once again, the blood  
13 tests that I cited to you that I was looking at were  
14 creatinines and urine tests for protein.

15 Q. Right.

16 A. Okay.

17 Q. Which is part of the standard --

18 A. What you are asking me, was a creatinine  
19 done in April *of* '88? I don't know.

20 Q. No, no, those are part of a standard series  
21 of -- those are part of a standard series of urinalysis  
22 tests?

23 A. Right,

24 & a I should have asked you if urine --

25 urinalysis had been done in April of '88, would that

1       probably have shown the protein nephropathy?

2           A.           It very well may have, yes.

3           Q.           And what treatment is available for protein  
4       nephropathy?

5           a.           I am not sure that I am qualified to answer.  
6       I don't get into treating nephropathies, I refer them  
7       over, My experience has been most of the time the  
8       nephrologists say this is a progressive disease and there  
9       is no treatment, That's been my experience.

10          Q.           Have they indicated to you how quickly it  
11       progresses?

12          A.           It varies with the individuals and what is  
13       causing the nephropathy.

14          Q.           Did you look at any of the later  
15       hospitalizations to determine whether Mr. Hofelich had  
16       protein in his urine in, for example, February of 1989  
17       when he was in the hospital?

18          A.           Yes, I did. And I believe --

19          Q.           Okay. You don't have to do it again,

20          A.           Okay.

21          Q.           I just wanted to know,

22          A.           I believe he did have -- continue to show  
23       protein,

24          Q.           You mentioned in your report that among the  
25       contributing factors to his being susceptible to the



1 formation of a decubitus ulcer in the coccyx area was his  
2 incontinence of urine and stool.

3 A. Uh-huh,

4 Q. Whose responsibility was it to deal with the  
5 problems of incontinence of urine and stool that he had?

6 A. I am sure that falls into the category of  
7 the nurses aids, the L.P.N.s and the R.N.s that you have  
8 mentioned previously.

9 Q. Dr. Suntala also ordered certain dressings  
10 that would be designed to keep any urine or stool out of  
11 the decubitus ulcer area; isn't that correct?

12 A. Easier said than done, You can order those  
13 dressings, but if -- you can't make them water tight,  
14 certainly.

15 Q. Do you have an opinion as to what the cause  
16 of the rigidity that has been described for Mr. Hofelich  
17 was?

18 A. His rigidity?

19 Q. Yes,

20 A. His rigidity may be attributable to his  
21 Alzheimer's disease and his lack of normal motion, being  
22 a bedridden patient.

23 Q. Is that your opinion, that it's attributable  
24 to --

25 A. That would be my opinion, if you are asking

1 it.

2 Q. Yes. It's rare, is it not, to have  
3 Alzheimer's disease patients at this stage of the disease  
4 who have rigidity because of the Alzheimer's; isn't that  
5 a fact?

6 A. I am not aware of that.

7 Q. About how many of the Alzheimer's disease  
8 patients that you are taking care of have rigidity?

9 a. Rigidity?

10 MR. SEIBEL: I apologize for not having  
11 you do a demographic study of your patients before this  
12 deposition,

13 THE WITNESS: Yeah, I know. I could  
14 probably find four to six of them in nursing homes  
15 currently that have some degree of rigidity.

16 BY MR. DELBAUM:

17 Q. And no other cause for it other than  
18 Alzheimer's disease?

19 A. No other presumed cause than Alzheimer's.

20 Q. You mentioned that another possible  
21 contributing factor here was previous low back surgeries,  
22 I am sorry, previous spinal surgeries, And you raised  
23 the question as to whether one of them might have been a  
24 low back area,

25 How close to the decubitus area would a

1 scar from previous surgery have to be to interfere with  
2 the blood circulation to that area?

3 A. I still know no more about that supposition  
4 than I did when I wrote the letter, but if he had lumbar  
5 spinal surgery, an incision in the lumbar area would  
6 cross nerves that innervate the coccygeal area in the, in  
7 the skin. In fact --

8 Q. To what extent --

9 A. -- it's a common complaint of patients that  
10 have lumbar diskectomies that they can't feel their  
11 tailbones any more, or they can't feel their coccyx area  
12 after the surgery.

13 Q. My question was to what extent -- I am  
14 sorry, my question was to -- strike that. Let me try  
15 again.

16 My question was how close would the scar  
17 from the surgery have to be to compromise the circulation  
18 to the area, not to interfere with the nerve conduction  
19 in the area,

20 A. Oh, to compromise the circulation, I don't  
21 think -- hum, Circulation and innervation are so, go --  
22 circulation and innervation are so closely entwined that  
23 I think that the incision would affect both equally. And  
24 I stand by saying that an incision in the lower lumbar  
25 area, if that's what he had, could have contributed to

1 poor circulation and innervation of the coccygeal area,

2 Q. How would you determine --

3 A. And you are asking how close that is.

4 Q. Right.

5 A. That's a distance of maybe three inches.

6 Q. And how would you determine whether this  
7 surgery in that area probably had or had not compromised  
8 the circulation in Mr. Hofelich's particular instance?

9 A. I don't think there is a way of determining  
10 whether it did or did not in his particular instance.

11 Q. Okay. You agree with me that black necrosis  
12 should always be debrided in a decubitus ulcer?

13 A. Um-m, no.

14 Q. Under what circumstances would it not be  
15 appropriate to debride black necrosis in a decubitus  
16 ulcer?

17 A. I have seen, I have seen patients with black  
18 gangrene, dry gangrene that surgeons simply refuse to  
19 debride as long as the gangrene is dry,

20 Q. In a decubitus ulcer?

21 A. Right, It's, I mean, in ulcers that start  
22 in a decubitus position, usually on the feet, and then if  
23 it's dry, surgeons on occasion will not debride it,

24 Q. Have you discussed with them the reasons  
25 that they choose not to debride it?

1           A.           If, if the -- this is probably not germane  
2 to this patient.

3           Q.           Okay,

4           A.           Mr. Hofelich.

5           Q.           Because this wasn't dry?

6           A.           That's right.

7           Q.           Okay. So let's limit it and make it  
8 simpler. Should black necrosis, which is not dry, always  
9 be debrided?

10          A.           Either medically or surgery -- surgically, I  
11 think so.

12          Q.           Okay, Would you agree with me that surgical  
13 debridement is the most effective way?

14          A.           No.

15          Q.           When you order elase, which is a treatment  
16 that you prescribe; is that correct?

17          A,           I have,

18          Q.           Do you give any instructions to the nursing  
19 staff as to how to use the elase?

20          A.           No, That, those instructions are usually in  
21 nursing manuals in the nursing home.

22          Q.           What is your understanding of the way it's  
23 suppose to be used?

24          A.           It's simply applied and changed on a regular  
25 basis. And hopefully the necrotic ulcer adheres to the

1 dressing that is put over the elase and is taken off with  
2 each dressing change, It's a very slow method of  
3 debriding the black eschar that you're talking about as  
4 opposed to a scalpel, which would debride it rapidly.

5 Q. Your understanding of the treatment is that  
6 the elase ointment is spread over the decubitus ulcer and  
7 a dressing is then put on top of the elase ointment and  
8 at some point the dressing is taken off and the hope is  
9 that some of the --

10 A. That's my understanding,

11 Q. --- necrotic tissue will come off with the  
12 dressing?

a3 A. Right,

14 Q. Is there anything else that's done as part  
15 of that treatment, in your understanding?

16 A. There very well may be, but it's in the  
17 realm of nursing and I wouldn't know the exact methods  
18 that are used.

19 Q. When you're using elase to debride necrosis,  
20 how can you tell if it's working?

21 A. I suppose it would be getting smaller, the  
22 black area, the eschar that you're talking about.

23 Q. Should the physician in charge of the  
24 patient with a decubitus ulcer assess whether dead tissue  
25 has been removed before starting DuoDerm for the wound?

I           A.           Should the physician assess whether dead  
2           tissue is present before using DuoDerm?

3           Q.           Right.

4           A.           I can't think of why.

5           Q.           Have you had a chance to review or gain any  
6           knowledge about the treatment that Dr. Suntala ordered in  
7           Fairview General Hospital in May of 1988?

8           A.           Probably not, since the records that were  
9           provided to me were primarily those nursing home records,

10          Q-          Okay, Are you going to offer any opinions  
11          about the care that he rendered at Fairview General  
12          Hospital in May of 1988?

13          A.           How could I do that if I haven't seen them?

14          Q.           Okay. And you haven't seen the records at  
15          St. Augustine Manor, you have only seen Dr. Santiago's  
16          testimony; is that right?

17          A.           That's right.

18          Q.           What is your understanding -- strike that.

19          A.           May I ask, I see I am getting close to  
20          missing my 4 o'clock meeting, is there any idea how much  
21          longer you need?

22          Q.           I am sure it's going to be at least another  
23          half hour minimum, maybe longer. Nobody told me before  
24          we came down here today that we had two hours to do this,

25          A.           All right. Let me make a phone call.

1 (A short recess was taken.)

2 BY MR. DELBAUM:

3 Q. There was mention I think in your earlier  
4 testimony about the use of a ring when Mr. Hofelich was  
5 up in a chair.

6 MR. SEIBEL: I don't think he said  
7 anything about a ring. We just talked about the fact  
8 that he was up in a chair.

9 MR. DELBAUM: Okay. I am sorry if there  
10 was nothing about a ring.

11 BY MR. DELBAUM:

12 Q. Do you recall reading something about a  
13 rubber ring being used?

14 A. A doughnut you mean?

15 Q. Yes, a doughnut.

16 A, Yes, uh-huh,

17 Q. Okay. What is your opinion as to the  
18 appropriateness of the use of a doughnut when Mr.  
19 Hofelich was up in a chair in Manor Care?

20 A. Either up in a chair or with or without a  
21 doughnut would have been an improvement over lying with  
22 direct pressure on the decubitus area,

23 Q. Are there any disadvantages to using a  
24 doughnut for a patient with a decubitus on his coccyx?

25 A. No. As a matter of fact, that should help



1     remove pressure from the area. The disadvantage is that  
2     he may not have kept it in the right place and that that  
3     would be very difficult for a man like this.

4         Q.             Would --

5         A.             You have to keep the doughnut centered.

6         Q.             -- Wilbur Hofelich have qualified for  
7     inclusion in the skilled unit at Manor Care?

8         A.             Would he have qualified for skilled care?

9         Q.             As of April and May of 1988.

10        A,             You know, I am not sure that I can answer  
11     that, Well, it's my understanding that Medi-Care does  
12     not look upon decubitus ulcers many times as a  
13     requirement for skilled care, but that's best asked of  
14     somebody that's more familiar with the Medi-Care rules  
15     than me.

16        Q.             Were --

17        A.             They change, they change drastically. As of  
18     the first of January with the comprehensive Medi-Care  
19     law, that then has since been rescinded, that there were  
20     just changes in what Medi-Care thought was skilled and  
21     what wasn't skilled,

22        Q.             Are there services that would have been  
23     available to him in the skilled unit that weren't  
24     available to him on the Alzheimer's unit of the nursing  
25     home?

1           A.           I can't answer that because I don't know  
2 what services are available in that nursing home.

3           Q.           Have you ever had occasion to order any  
4 special beds of any kind for any patients you have had  
5 with decubitus ulcers?

6           A.           Um-m, yes.

7           Q.           Under what --

8           A,           Hospitalized patients, I have never seen  
9 these Clinetron or other types of beds, Mediscus beds  
10 used in nursing homes, although they may be available.

11          Q.           You don't know whether they are ok they're  
12 not?

13          A.           Oh, for a price anything is, and I believe  
14 these beds run something like \$80 a day or \$100 a day or  
15 something like that. So I know they are available to be  
16 rented in anyplace. You can get them for your home if  
17 you wanted.

18          Q.           Is it your understanding that there were  
19 periods of time when Mr. Hofelich's mental status was  
20 clear in the spring of 1988?

21          A.           To the best of my recollection from those  
22 records, no, not in the spring of '88. But I would have  
23 to qualify that and say I would have to go back and look  
24 again,

25          Q.           Okay. What is your recollection of his

1 ability to communicate his desires in the spring of 1988?

2 A. Very poor,

3 Q. What is your recollection of his ability to  
4 communicate his feelings in the spring of 1988?

5 a. Again, very poor. I think I recall times  
6 when the nurses indicated that his wife thought that she  
7 could communicate what those feelings were and those  
8 notes were entered that way. The wife felt as though he  
9 felt that, you know, that sort of thing.

10 Q. What is your understanding of his periods of  
11 lucidity, if any, in 1989?

12 A. Again, I would have to go back to the record  
13 to tell you specific dates of when he was lucid and when  
14 he wasn't.

15 Q. Okay,

16 A. My understanding is he was not lucid in 1989  
17 to any great extent.

18 Q. Alzheimer's is a progressive disease; is  
19 that correct?

20 A. That's right.

21 Q. So you would expect his mental status to be  
22 worse in 1989 than it was in 1988; is that a fair  
23 statement?

24 A. That's a fair statement on the basis of  
25 Alzheimer's.

1 Q. Right. Is there something else that you  
2 think might have changed his mental status between '88  
3 and '89?

4 A. There are other things that could have, I  
5 did not look at the record to find those things, but  
6 certainly there are many factors that would change his  
7 mental status other than Alzheimer's.

8 Q. What are some of those factors?

9 A. His cerebral vascular disease that we have  
10 spoke of, medications could change one's mental status,  
11 general physical nutritional well being could change the  
12 mental status, three examples.

13 &- Have you done any surgical debridements of  
14 decubitus ulcers yourself?

15 A. No, sir.

16 Q. Have you been present when they have been  
17 done by surgeons?

18 A. No, I have not.

19 Q. Have you ever taken care of a patient at a  
20 nursing home who had Alzheimer's who wasn't paralyzed,  
21 but who didn't respond to pain?

22 a. Have I ever taken care of a patient who had  
23 Alzheimer's who was not paralyzed but didn't respond to  
24 pain?

25 Q. Yes,

1           A.           Oh, yes.

2           Q.           What was the state of their mental condition  
3 for those patients, or is there no way to characterize  
4 that?

5           A\*           What is the state of their -- just  
6 vegetating I guess is the best word; is that what you are  
7 --

8           Q.           Yes,

9           A.           Vegetating state.

10          Q.           So you have had Alzheimer's disease patients  
11 who were essentially in a vegetative state who did not  
12 respond to pain?

13          a.           Uh-huh.

14          Q.           Yes?

15          A.           That's correct.

16          Q.           Have you had any who were -- any Alzheimer's  
27 patients who were not in a vegetative state who didn't  
18 respond to pain?

19          A,           No.

20          Q.           Okay. In your opinion was Wilbur Hofelich  
21 in a vegetative state in April and May of 1988?

22          A.           No.

23          Q.           Do you have any reason to believe that  
24 Wilbur Hofelich was unable to feel pain in April and May  
25 of 1988?

1           A.           Yes, I do. But my opinion would be not  
2 primarily because of the Alzheimer's. If I follow your  
3 course of questioning here, you are wondering whether his  
4 Alzheimer's kept him from feeling pain at that point.  
5 And whereas that's possible and certainly may be part of  
6 anything that he received in terms of pain sensation in  
7 his brain, I feel that most of the patients that I've had  
8 that have decubitus ulcers, the ulcers themselves are  
9 painless.

P0           Q.           That wasn't my question.

11          A.           Okay,

12          Q.           My question is whether you have an opinion  
13 as to whether Wilbur Hofelich was able to feel pain in  
14 April and May of 1988?

15          A.           Okay. I found nothing from the record that  
16 indicated to me that he was in pain.

17          Q.           That wasn't my question.

18                       MR. FULTON: That's his answer, though.

19 BY MR. DELBAUM:

20          Q.           Well, what I want to know is not whether you  
21 observed anything that indicated he was in pain --

22          A.           All right,

23          Be           -- but whether you have an opinion as to  
24 whether he was capable of feeling pain in April and May  
25 of 1988?

1           A.           Okay. I think he was probably capable of  
2 feeling pain,

3           Q.           In April and May of 1988?

4           A.           Yes,

5           Q.           Okay.

6           A.           For instance, if you were to pinch the man,  
7 I think he would have felt a pinch, from what I can tell  
8 from the record,

9           Q.           Do you have an opinion as to the cause of  
10 the necrosis of his coccyx which was found when Dr.  
11 Trillis performed surgery on him?

12          A,           Cause of the necrosis?

13          Q.           Yes. Do you have an opinion as to the cause  
14 of the necrosis of his coccyx?

15          A,           The same as the cause of the ulcer,. I would  
16 -- eight things that I listed as the cause of the ulcer  
17 would help contribute to the necrosis of the coccyx.

18          Q.           Do you have an opinion as to whether the  
19 necrosis of the coccyx was independent of the decubitus  
20 ulcer formation or was related in some way to the  
21 existence of the ulcer?

22          A,           My opinion is it was part of the ulcer.

23          Q.           Do you feel that an examination of Wilbur  
24 Hofelich by you would be of any help to you in  
25 formulating the opinions you have in this case?

1           A.           I can't see why, There is a time period  
2 that has passed since then and I don't think that would  
3 have much bearing over our trying to figure out what  
4 happened in the spring of '88.

5           Q.           What if anything do you know about the  
6 nursing home ombudsman program?

7           A.           I know that it exists, that's about it,

8           Q.           Do you know what its function is?

9           A.           Its function is as a patient advocate.

10          Q.           Do you know where it gets any authority to  
11 act as a patient advocate?

12          A.           I believe that it's a federally funded  
13 program, That's a belief, I don't know the law.

14          Q.           Do you know any physicians who are on the  
15 Board of Trustees of any nursing home ombudsman program?

16          A.           No, I don't.

17          Q.           When you take on a new patient in a nursing  
18 home, how soon after the patient enters the nursing home  
19 do you assess their medical condition?

20          a.           Anywhere from the time they come in to the  
21 maximum allowable, which I think is like 28 days. If a  
22 patient comes into a nursing home and they have a  
23 complete chart with a recent medical assessment by  
24 another physician and they're stable, it's possible that  
25 I could wait for a month before I saw them. Unlikely,



1 but possible,

2 Q. What is your opinion, if you have one,  
3 regarding how frequently -- strike that.

4 Does a physician who is caring for a  
5 patient in a nursing home and who is caring for a  
6 deteriorating decubitus ulcer for the patient in the  
7 nursing home, one that has some necrosis and purulence,  
8 have any obligation to assess the nutritional status of  
9 the patient?

10 A. Yes, I think the physician should pay  
11 attention to their nutritional status of the patient.

12 Q. What should the physician do to pay  
13 attention to the nutritional status?

14 A. Should make certain that the patient is  
15 eating and taking fluids properly,

16 Q. How can the physician make certain that the  
17 patient is eating and taking fluids properly?

18 A. From what records and advice are given to  
19 him by the staff at the nursing home. And the physician  
20 could order laboratory studies, as has been done on Mr.  
21 Hofelich.

22 Q. When was it done on Mr. Hofelich?

23 A. Throughout the course of his stays there  
24 were occasional serum proteins and albumins done,

25 Q. His stay at Manor Care?

1 MR. SEIBEL: Well, I don't mean to  
2 interrupt the Doctor, but I will for a second.

3 THE WITNESS: Okay, I don't know when I  
4 -- they were in the records that I saw.

5 MR. SEIBEL: I have my own compilation of  
6 the serum albumin and total proteins that were taken from  
7 October of '87 through February of 1989, and if you would  
8 like to short circuit the Doctor's review of those, I am  
9 not going to give this to you because I prepared it, but  
10 they're taken directly from the records, if you want him  
11 to go through the records,

12 MR. DELBAUM: What you are saying is is it  
13 all right if he looks at your notes? Yes, it's all right  
14 if he looks at your notes.

15 MR. SEIBEL: Yes.

16 THE WITNESS: There. There we go. All  
17 right.

18 BY MR. DELBAUM:

19 Q. Were the studies done that you were just  
20 referring to while Wilbur Hofelich was a patient at Manor  
21 Care in North Olmstead?

22 A. There was one done.

23 Q. When was that?

24 A. On April 26th, 1988.

25 Q. And what did that show?

1           A.           Showed an albumin of 3.1 and a protein of  
2   5.4.

3           Q.           What is the significance of the albumin of  
4   3.1?

5           A.           Well, the significance, as I said, because  
6   we don't know what his kidney, his renal status was, the  
7   significance is debatable. The fact is that they were  
8   both low,

9           Q.           Which is an indication of malnutrition?

10          A.           Or protein losing nephropathy.

11          Q.           In any event, whether it's because of the  
12   protein nephropathy or because the patient isn't eating  
13   enough, the patient's body is not getting enough protein;  
14   isn't that correct?

15          A.           No, no, the patient's body doesn't have  
16   enough protein in the serum, that's correct; but why that  
17   protein is low is what is at question.

18          Q.           Okay. What obligations, if any, should the  
19   physician have to investigate the cause of the albumin  
20   and protein being low under the circumstances when they  
21   were taken on April 26th, 1988?

22          A,           I'm not sure that the physician has any  
23   obligation to investigate that given this man's general  
24   health picture, his quality of life, and the total  
25   bearing it may or may not have on his decubitus. I'm not

1 convinced that any investigation is necessary,

2 Q. Is it your opinion that the bearing of these  
3 readings on his decubitus was probably insignificant?

4 A. I think that the serum protein was only one  
5 of the many problems that contributed to his decubitus  
6 ulcer, and if you list out all of those contributing  
7 factors, any one of them would have to be considered  
8 insignificant simply because of the large number of  
9 problems that he had,

10 Q. Do you have any information regarding the  
11 status of Wilbur Hofelich's hydration in April and May of  
12 1988?

13 A. I -- no, I would have to consult the  
14 records.

15 Q. I would like you to do that.

16 A. I beg your pardon?

17 Q. I would like you to do that,

18 A. Specifically look for what?

19 Q. What information there is regarding his  
20 hydration in April and May of 1988.

21 A. Um-m --

22 Q. Let me back up for a second,

23 A. All right.

24 Q. Is the extent of his hydration of any  
25 significance?

1           A.           With the ulcer?

2           Q.           Yes.

3           A.           I really, I can't think of a way that being  
4           dehydrated specifically affects the ulcer, Indirectly  
5           being dehydrated can make one have an altered mental  
6           capacity, but direct influence of hydration and an ulcer,  
7           I can't, I can't draw any direct conclusion there, or  
8           direct connection.

9                        The other end of it is, of course, that he  
10          was hydrated enough that he was urinating and keeping the  
11          ulcer wet, and that, that was an unfortunate  
12          circumstance,

13          Q.           Would you agree that malnutrition interferes  
14          with the ability of the decubitus ulcer to heal?

15          A.           I would,

16          Q.           Can you tell me what your fees are for  
17          providing expert assistance in this matter starting with  
18          report through testimony?

19          A.           I have riot sent a bill yet for my report,  
20          but I normally bill at the rate of 150 an hour for  
21          preparing a report.

22          Q.           And how about for time in deposition?

23          A.           \$500 for the two hours.

24          Q.           About \$500 for two and a quarter hours?

25          A.           Whatever.

1 Q. Okay.

2 MR. DELBAUM: I don't have any other  
3 questions. Thank you, Doctor.

4 THE WITNESS: Ready to quit?

5 MR. FULTON: I have three, I have three  
6 questions.

7 - - -

8 BY MR. FULTON:

9 Q. If you just look at your report.

10 A. All right,

11 Q. Does your report state, the third paragraph,  
12 page 1, "Neither his wife's action nor those of the  
13 nursing home staff or Dr. Suntala was responsible for the  
14 course of Mr. Hofelich's illness; he was a victim of his  
15 disease"?

16 A. That's what it says.

17 Q. Does it also say near the end of that  
18 paragraph, "Reasonable caring people tried to prevent the  
19 ulcer formation, and once it developed the attending  
20 physician directed numerous acceptable and appropriate  
21 methods of treatment to try to help it heal," does it say  
22 that?

23 A. It says that.

24 Q. And on page two, in the second paragraph  
25 does it say this, quote, "Resident care plan nursing

1 service" sheet says the patient was to be repositioned  
2 every two hours with two assistants daily. That "data  
3 sheets" in the chart are initialed indicating the staff  
4 turned him "q 2 H while in bed," "keep off back" was  
5 noted on the flow sheet, also."

6 "These flow sheets were evidently a new  
7 addition to the chart and the same information was  
8 recorded in "interdisciplinary progress notes" for his  
9 first Manor Care admission."

10 "His nursing care at Manor Care is  
11 documented as a reasonable standard of prevention and  
92 then correction of his ulcers." Does it say that?

13 A. It says that.

14 MR. FULTON: That's all I have,

15 MR. SEIBEL: We will waive signature.

16 MR. DELBAUM: I don't want to waive  
17 signature.

18 MR. SEIBEL: It's the witness's -- the  
19 witness waives.

20 - - -

21 (Deposition concluded at 4:15 o'clock p.m.)

22 - - -

23

24

25

C E R T I F I C A T E


STATE OF OHIO,     )  
                               ) *ss:*  
 SUMMIT COUNTY,    )

I, Laura E. Pavlick, an RPR and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, J. JOSEPH PAYTON, D.O., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by the witness was by me reduced to Stenotypy in the presence of said witness, afterwards transcribed upon a computer; and that the foregoing is a true and correct transcription of the testimony so given by the witness as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio on this 7th day of March, 1990.

  
 \_\_\_\_\_  
 Laura E. Pavlick, RPR and Notary  
 Public in and for the State of Ohio.

My Commission expires December 4, 1990.