

IN THE COURT OF COMMON PLEASCUYAHOGA COUNTY, OHIO

TRAVIS CATES, et al.,)

Plaintiffs,)

-vs-)

CASE NO. 167835CLEVELAND METROPOLITAN)
GENERAL HOSPITAL, et al.,)

Defendants.)

DOC. 353

- - - -

Deposition of ROBERTA L. PERSAUD, M.D., taken
as if upon cross-examination before Ralph A,
Cebbron, a Registered Professional Reporter and
Notary Public within and for the State of Ohio,
at MetroHealth Medical Center, 3395 Scranton
Road, Cleveland, Ohio, at 10:00 a.m. on Tuesday,
March 27, 1990, pursuant to notice and/or
stipulations of counsel, on behalf of the
Plaintiffs in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

APPEARANCES:

Christopher M. Mellino, Esq.
Charles I. Kampinski Co., L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiffs;

Michael C. Zellers, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115
(216) 696-1100,

-and-

Debra E. Roy, Esq.
Legal Department, MetroHealth Medical Center
3395 Scranton Road
Cleveland, Ohio 44109
(216) 459-5728

On behalf of the Defendant
Cleveland Metropolitan
General Hospital;

Robert Seibel, Esq.
Jacobson, Maynard, Tuschman & Kalur
Suite 1400, 1301 East Ninth Street
Fourteenth Floor
Cleveland, Ohio 44114
(216) 621-5400,

On behalf of the Defendant
Mary Blair Matejczyk, M.D.

- - - -

1 ROBERTA L. PERSAUD, M.D., of lawful age,
2 called by the Plaintiffs for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF ROBERTA L. PERSAUD, M.D.
8 BY MR. MELLINO:

9 Q. Will you state your full name, please?

10 A. Roberta Lynn Persaud.

11 Q. How do you spell your last name?

12 A. P E R S A U D ,

13 Q. What is your address?

14 A, 9442 Hunters Chase Drive, Apartment 3-B, in
15 Westlake, Ohio.

16 Q. And did you get married since November of '87?

17 A. Yes.

18 Q. What was your name in November of '87?

19 A. Robert Lynn Bender.

20 Q. Okay. Spell your last name.

21 A. B E N D E R .

22 Q. Okay, Have you been deposed before?

23 A. No, I haven't.

24 Q. Okay, I only ask two things of you, One is
25 that you answer all my questions verbally so the

1 court reporter can take it down. The other is
2 if at any time you don't understand one of my
3 questions or hear it, you can ask me to repeat
4 it or rephrase it, I will be happy to do that.
5 Okay?

6 A. Okay.

7 Q. Where were you born?

8 A. In Cleveland, Ohio.

9 Q. Okay. And when were you born?

10 A. April 28, 1955.

11 Q. Where did you go to high school?

12 A. Our Lady of the Elms High School in Akron, Ohio.

13 Q. And what year did you graduate?

14 A. 1973.

15 Q. Okay. And where did you go to college?

16 A. University of Akron.

17 Q. When did you graduate from University of Akron?

18 A. 1978.

19 Q. Okay. What degree did you obtain?

20 A. Bachelor of science?

21 Q. What was your major?

22 A. Biology.

23 Q. And where did you go to medical school?

24 A. Wright State University.

25 Q. What year **did** you graduate from there?

1 A. 1984.

2 Q. Okay. And what additional training did you
3 undergo?

4 A. I served my internship at Metro Hospital.

5 Q. Okay. What year was that?

6 A. 1984 through '85.

7 Q. Then what did you do?

8 .A. Finished an internal medicine residency.

9 Q. What years?

10 A. '85 through '87.

11 Q. Then what?

12 A. Infectious disease fellowship.

13 Q. Okay. What years?

14 A. 1987 through 1989.

15 Q. Okay, That would have been July of 1987 through
16 July of '89?

17 A. Right.

18 Q. Okay. Did you go straight through medical
19 school?

20 A. Did I go --

21 Q. I mean continually+

22 A. Yes.

23 Q. Why did it take you six years then --

24 MR. ZELLERS: Objection.

25 Q. -- to get a degree?

1 MR. ZELLERS: Objection.

2 Q. Isn't medical school usually four years?

3 A. '80 through '84 I was in medical school. I'm
4 sorry.

5 Q. Okay.

6 MR. ZELLERS: Nothing to be sorry
7 for. That's what you said. I mean, you're
8 right.

9 Q. Okay. You graduated from college at the end of
10 '78?

11 A, Right.

12 Q. What did you do from '78 to '80?

13 A. I did two years of graduate school,

14 Q. Okay,

15 A. In anatomy and physiology,

16 Q. Where at?

17 A. At the University of Akron.

18 Q. Okay. You started your infectious disease
19 fellowship in July of '87?

20 A. That's correct.

21 Q. What were your duties during your fellowship?

22 A. To evaluate patients, present them to the
23 attending physician, follow up on cases, teach
24 residents, make weekly presentations.

25 Q. To who?

1 A. To the infectious disease department.

2 Q. Anything else?

3 A. Worked on the clinical service. That pretty
4 much encompasses my duties,

5 Q. What else would you do besides the clinical
6 service?

7 A. Research.

8 Q. Okay. And did you have specific duties when you
9 were doing research?

10 A. I worked with another attending physician who
11 helped guide our research projects and I would
12 also work in the infectious disease outpatient
13 clinic one morning a week.

14 Q. Okay. The first part of that you said that you
15 would work with an attending who guided your
16 research?

17 A. Uh-huh.

18 Q. Okay. Were you working on more than one project
19 during that time?

20 A. During which time?

21 Q. During the time that you were a fellow, '85
22 through 87?

23 MR. ZELLERS: That would be '87 to
24 '89.

25 MR. MELLINO: I'm sorry.

1 MR. ZELLERS: You mean when she was
2 involved in research? As I understand she
3 sometimes is involved in clinical, then she
4 moves over to research. Is that right?

5 MR. MELLINO: Yes.

6 THE WITNESS: Yes.

7 MR. ZELLERS: You want to know what
8 was she doing when she was in research?

9 MR. MELLINO: Yes.

10 Q. When you were doing research did you work on one
11 project the whole time or more than one project?

12 A. More than one project.

13 Q. Did you work with the same attending or was it
14 different attendings?

15 A, Different attendings.

16 Q. Were all the attendings from the infectious
17 disease department?

18 A, Yes.

19 Q. Okay. And the first part, during the time that
20 you were on clinical service you said that you
21 presented, you evaluated patients and presented
22 them to the attendings, What attendings would
23 those be?

24 A. Dr. Philip Spagnuolo, Dr. John Marino,
25 Dr. Emanuel Wolinsky, Dr. Walt Tomford and

1 Dr. Belai Damtew.

2 MR. ZELLERS: Spell that.

3 A, B E E A I, East name D A M T E W.

4 Q. Are these all infectious disease doctors?

5 A. Yes.

6 Q. Okay. When you were on the clinical service
7 what would your relationship be with attendings
8 from other services?

9 MR. ZELLERS: Can you answer that?

10 A, It depended on the service I was interacting
11 with.

12 Q. Okay, What about the orthopedic service?

13 A, I had a very good rapport with most of the
14 orthopedic attendings.

15 Q. Would you be responsible to report to them in
16 terms of presenting patients like you would with
17 infectious disease doctors?

18 A, No.

19 Q. At the time that you were a fellow were you an
20 employee of Cleveland Metropolitan General
21 Hospital?

22 A. Yes, I was.

23 Q. By the way, what is your position now?

24 A, I'm in private practice.

25 Q. Where?

1 A. In Westlake, Ohio.

2 Q. What's the address?

3 A. 29101 Health Campus Drive, Building 2, Suite 260
4 in Westlake.

5 Q. What's the name of your practice?

6 A. It's my name,

7 Q. Okay. Is it a corporation?

8 A. No.

9 Q. Okay. I take it you practice by yourself?

10 A. Yes. I'm a sole proprietor.

11 Q. And when **did** you start the practice?

12 A. October of 1989.

13 Q. Okay. That would have been at the end -- well,
14 did you do anything between the time your
15 fellowship ended and October of '89?

16 A. I set up my practice, studied for boards.

17 Q. Okay, Are you board certified?

18 A. In internal medicine, yes.

19 Q. Okay. Have you taken the infectious disease
20 boards?

21 A. Not yet.

22 Q. Okay. Are you eligible to take them?

23 A. Yes, I am,

24 Q. When when did you become eligible?

25 A. At the completion of my infectious disease

1 fellowship.

2 Q. Do you plan on taking them?

3 A, Yes, I do.

4 Q. When?

5 A. I haven't decided yet.

6 Q. Okay. When is the next time it is offered?

7 A. November of 1990.

8 Q. Okay. Did you pass your internal medicine
9 boards the first time you took them?

10 A. Yes, I did.

11 Q. Sorry. I might have asked you this. When did
12 you become board certified in internal
13 medicine?

14 A. September of 1989.

15 Q. All right. I take it you had the opportunity to
16 review the Travis Gates chart prior to the
17 deposition?

18 A, I have reviewed the November admission, yes.

19 Q. Okay. And you were involved in that admission?

20 A. Yes, I was.

21 Q. Okay. Do you remember what you were doing
22 between July and November of '87?

23 MR. ZELLERS: You mean generally?

24 MR. MELLINO: Yes.

25 Q. Were you on the research?

1 A. I was on the clinical service.

2 Q. Okay. July, August, September?

3 A. October and November.

4 Q. Okay. When was the first time you saw Travis
5 Gates?

6 A. November 13, 1987.

7 Q. Okay. Did you write a note when you saw him the
8 first time?

9 A. No, I didn't.

10 Q. Okay. Why not?

11 A. I was called to the orthopedic clinic to see him
12 basically to collect culture samples.

13 Q. Okay. Where are you getting that from, that
14 information from?

15 A. My memory.

16 Q. Where is the orthopedic clinic?

17 A. It's on the ground floor of the Bell Greve
18 building.

19 Q. Who called you there?

20 A. Dr. Meyer.

21 Q. And Dr. Meyer is what?

22 A. An orthopedic resident.

23 Q. A resident? What happened? What did you do?

24 A. I --

25 MR. ZELLERS: This is on November

1 13?

2 MR. MELLINO: Right,

3 A. I went to the clinic. I saw Mr. Cates' knee. I
4 collected the specimens that I requested and
5 took them to the lab.

6 Q. What specimens did you request?

7 A. A swab of a skin ulcer and an aspirate of the
8 right knee.

9 Q. Okay. Were you the one that actually stuck the
10 needle in the right knee?

11 A. Dr. Meyer did.

12 Q. You just took the sample?

13 A. Yes.

14 Q. Okay. Is that all you did in terms of the
15 orthopedic clinic?

16 A. In the clinic.

17 MR. ZELLERS: On that day?

18 MR. MELLINO: On that day.

19 A. Yes.

20 Q. What did you do with the samples?

21 A. Took them to the lab.

22 Q. Okay. And what was the purpose in taking them
23 to the lab?

24 A. I would be able to prepare my own Gram stains
25 and make sure that the specimens were cultured

1 appropriately.

2 Q. And you did that?

3 A. I gave them to the lab tech to culture, but I
4 made my own slides for Gram stains.

5 Q. What was the purpose in getting Gram stains?

6 A, To determine whether or not there were bacteria
7 both in the joint and over the ulcer.

8 Q. Okay. And is that all you did on the 13th?

9 A, No.

10 Q. Okay. What else did you do?

11 A. Later that evening I went to the floor to make
12 sure that this man was started on antibiotics,

13 Q. Okay. I take it at the time you saw him in the
14 orthopedic clinic he hadn't been admitted to the
15 hospital?

16 A. That's correct.

17 Q. Had the decision been made to admit him?

18 A. It had been made, yes.

19 Q. Before you got there?

20 A, That's right,

21 Q. Before you were called?

22 A. That's correct.

23 Q. When you saw him on the floor you started
24 antibiotics?

25 A, Yes.

1 Q. Okay. What antibiotics did you start?

2 A. Nafcillin.

3 Q. Was there anything else you did on the 13th?

4 A. No.

5 Q. Okay. When is the next time you saw him?

6 A, November 14th.

7 Q. Okay., And did you write a note when you saw him
8 on that time?

9 A. Yes.

10 Q. Okay, Why don't you turn to that note and, if
11 you would,, just read it for me?

12 MR. ZELLERS: You want her to read
13 it out loud or to herself?

14 MR. MELLINO: Out loud.

15 MR. ZELLERS: Reasonably slow so
16 the court reporter can get it.

17 A. Asked to see this 53 year old white male with
18 long-standing rheumatoid arthritis who presented
19 to the ortho clinic with a complaint of
20 superficial ulceration over the right knee for
21 approximately two weeks. No definite history of
22 trauma. Has been caring for this wound with
23 dressing changes only. No antibiotics taken.
24 No healing noted over this time period and on
25 the morning of admission he noted increased

1 right knee swelling. He called his rheumatology
2 physician, who is Dr. Ballou, who suggested that
3 the patient make an ortho clinic appointment.
4 The right knee was found to have an appreciable
5 effusion, a superficial right knee with obvious
6 purulent drainage. Me was admitted to the ortho
7 service for probable septic right knee
8 (prosthetic knee). The knee aspirate fluid was
9 cloudy, bloody draining with a glucose of 14 and
10 a total protein of three grams. 216 white blood
11 cells with six polys, 84 lymphs and ten monos.
12 The Gram stain of the fluid had white cells,
13 polys, though no identifiable bacteria noted.
14 The swab of the right knee pus showed staph.
15 Exam was significant for a nontoxic appearance.
16 He was a pleasant, cooperative white male. He
17 had severe rheumatoid changes involving his
18 hands, ankles, feet, elbows. There were
19 numerous rheumatoid nodules noted, Many small
20 pustules were seen on the back and the Gram
21 stain showed polys. Right ear, his external
22 ear, had a nonhealing ulceration.

23 Lung exam with basilar inspiratory
24 crackles. Heart exam with a grade of two to
25 three over six systolic murmur which was heard

1 radiating to the base, the apex and out to the
2 axilla. No diastolic component. His abdominal
3 exam was within normal limits. He had bilateral
4 knee effusions, right side greater than the
5 left. There was very little right knee pain
6 appreciated. No erythema or warmth noted, no
7 cellulitis, and he had a right buttock abscess.

8 Assessment Number 1. Probable septic
9 prosthetic right knee with superficial furuncle
10 over the right patella.

11 Number 2. Severe rheumatoid arthritis. On
12 steroids.

13 No. 3. Probable mitral regurgitant murmur
14 with a history of rheumatic fever, Suggest
15 patient started on nafcillin, two grams IV q
16 four hours last night. We was cultured for
17 staph carriage, On Number 3, the right ear was
18 cultured, May need to biopsy this nonhealing
19 ulcer.

20 Number 4. And I couldn't read my last word
21 here, will require repeat aspiration of the
22 right, looks like ear to me. I don't know what
23 that is.

24 Number 5. Local care to the buttock
25 abscess.

1 And I ended saying that I would check
2 cultures.

3 Q. Okay. I take it then at the time that you saw
4 him on November 14 that you had the results from
5 the cultures done the day before?

6 A. I don't know if I had the culture results at
7 that time.

8 Q. Okay. Is there anyway to determine that from
9 the records?

10 A. If I didn't write it in my notes it doesn't look
11 like I have the culture results at that time.

12 Q. Okay. When he was seen in the orthopedic clinic
13 was Dr. Meyer the only doctor, only orthopedic
14 doctor that saw him?

15 MR. ZELLERS: Objection.

16 A. E don't know that,

17 Q. Okay. Do you know if he was being followed in
18 the orthopedic clinic?

19 MR. ZELLERS: Objection,

20 A. At that time I didn't know who he was following
21 with. I was just called by Dr. Meyer.

22 Q. Okay. Well, you have in your note "has been
23 caring," this is at the beginning, third line,
24 "has been caring for --" I really can't read
25 your writing,

1 A. He has been caring for this wound with dressing
2 changes.

3 Q. All right. Well, it doesn't say "he," that was
4 my question. When it says has been caring, who
5 does that refer to?

6 A. The patient.

7 Q. How do you know that?

8 A, He told me that.

9 Q. Okay. Well, do you remember that or is it in
10 your note?

11 A. I'm reading it from my note.

12 Q. You're reading what from your note?

13 A. That he had been caring for his wound with
14 dressing changes.

15 Q. Well, your note doesn't say "he"?

16 A. Well, that's what I meant.

17 Q. Okay. My question is, is this the way you
18 interpret your note or do you have a
19 recollection that he was the one that was caring
20 for his wound that way?

21 A. I asked him in the clinic what he had done for
22 this wound and he told me that he was caring for
23 it with dressing changes only.

24 Q. This is from your recollection?

25 A. That's correct.

1 Q. Okay. What does it mean when the Gram stain
2 shows polys?

3 A. That means white blood cells are seen.

4 Q. Okay. What significance does that have?

5 A. It's a sign of inflammation.

6 Q. Is it a sign of infection?

7 A. That can be. But it's also seen in other
8 inflammatory disorders.

9 Q. Were those the Gram stains that you did?

10 A. Yes,

11 Q. Okay. What does erythem --

12 A. Erythema.

13 Q. -- erythema mean?

14 A. It means redness.

15 Q. Okay. What does that tell you if there is no
16 erythema?

17 A. Usually it's associated with cellulitis.

18 Q. What's cellulitis?

19 A. It's an inflammation of the soft tissues.

20 Q. Okay. So there was no inflammation of the soft
21 tissues then?

22 A. That's what I wrote.

23 Q. Okay.. What is, I'm probably going to
24 mispronounce this word, furuncle?

25 A. It's like a large boil.

1 Q. Okay. And what does that tell you, if there is
2 one there?

3 A. That there is a collection of white cells.

4 Q. And is that an indication of infection?

5 A. Yes.

6 Q. Okay. You told me at the beginning when we
7 started talking about this note that you didn't
8 have the lab results from the collection you
9 took the day before, but you did have the
10 results of the Gram stain?

11 A. Right,

12 Q. Okay. Because you did those?

13 A. I did them myself, right,

14 Q. Okay. And on the 14th then you made the
15 diagnosis of probable septic prosthetic right
16 ~~knee~~ right?

17 A. That's correct.

18 Q. Okay. Did you make that -- did you come to that
19 conclusion based on your own examination and
20 testing that you did?

21 A. Yes.

22 Q. Okay. When is the next time you saw him?

23 A. My next note is on the 16th of November.

24 Q. Okay. Is that the next time you saw him then?

25 A. I don't know,

1 Q. Okay. Why don't you read that note out loud, if
2 you would?

3 A. Infectious disease service. Complaint of nausea
4 and diarrhea for 24 hours. Then I have the
5 culture results noted. 11/13 culture from the
6 right knee ulcer shows metazoan resistance to
7 staph aureus. 11/13 nasal shows metazoan
8 resistancy to staph aureus. And 11/13 knee
9 aspirate shows no growth. Will discuss culture
10 results with Dr. Tomford. Suggest discontinuing
11 nafcillin, change to vancomycin and agree to
12 sending stool for cytisine.

13 Q. Okay. Did you discuss the results with
14 Dr. Tomford?

15 A. Yes.

16 Q. Is that reflected somewhere in the record? Did
17 either one of you write a note about that
18 discussion?

19 MR. ZELLERS: Other than what she's
20 already read, she says she's going to do it.

21 MR. MELLINO: Yes, Okay.

22 A. I wrote nothing in addition to that line.

23 Q. Okay. Do you remember the discussion?

24 A. Yes, I do.

25 Q. Okay. Why don't you tell me what it was?

1 A. I gave him the culture results.

2 Q. Okay.

3 A. Told him what antibiotics he was on.

4 Q. Okay.

5 A. And then we discussed the likelihood of the knee
6 joint itself being infected.

7 Q. And what did he feel the likelihood of the knee
8 joint being infected was?

9 MR. ZELLERS: Objection.

10 A. He felt it was not infected,

11 Q. Okay. Did you agree with him?

12 A. Yes.

13 Q. And what were you basing that or what were the
14 two of you basing that opinion on?

15 A. The culture results,

16 Q. Was it anything in particular?

17 A. It was a negative culture,

18 Q. The knee aspirate?

19 A. Yes.

20 Q. What antibiotics was he on on the, prior to your
21 seeing him on the 16th?

22 A. He was initially started on nafcillin.

23 Q. Okay. That was the only antibiotic he was on?

24 A. That's correct.

25 Q. All right. When was the next time you saw him?

1 A. My next note is November 17th.

2 Q. Okay. Before we go to that note, these cultures
3 you discussed with Dr. Tomford, were those the
4 ones you took in the orthopedic clinic?

5 A. That's correct,

6 Q. Did you get those back on the 16th?

7 A. That's when I documented it. I don't remember
8 exactly when I received the results,

9 Q. Okay. Your note on the 17th, why don't. you read
10 that note?

11 A, Infectious disease service. Right knee
12 examined, Decreased erythema, though increased
13 warmth and effusion remain. Culture from
14 superficial.wound repeated today. Suspect that
15 this will still show metazoan resistance to
16 staph aureus. Recommended continued vancomycin,
17 500 milligrams IV every six hours, monitoring
18 renal function closely. Check vancomycin levels
19 and continue local wound care.

20 Q. Okay. So on the 17th you repeated the culture
21 for the wound?

22 A. That's correct.

23 Q. Okay. Why didn't you repeat, it for the knee?

24 A. We didn't feel it was necessary to reaspirate
25 the knee.

1 **a.** Who is we?

2 A. My attending and I.

3 Q. Dr. **Tomford**?

4 A. That's right.

5 Q. Why not?

6 A, We did not feel it was infected,

7 Q. Okay.. Even assuming it wasn't infected on the
8 13th, would it have been possible for it to be
9 infected on the 17th?

10 MR. ZELLERS: Objection.

11 A. We didn't feel the wound was infected or the
12 knee was infected.

13 Q. I understand that in the answer you gave to me
14 before.

15 My question now is would it have been
16 possible for it to have been infected?

17 MR. ZELLERS: Objection. Based
18 upon the record she's got in front of her, is
19 that right?

20 MR. MELLINO: No, Just in general,
21 is that a possibility?

22 MR. ZELLERS: Objection. I mean,
23 his wound was infected, right?

24 A. His skin wound was infected, yes.

25 Q. Right. And that was above the knee?

1 A, Yes.

2 Q. Could it have been possible for that infection
3 to go into his knee prosthesis?

4 MR. ZELLERS: Objection.

5 A. It would be very unlikely.

6 Q. But it was possible?

7 MR. ZELLERS: Objection.

8 MR. SEIBEL: Just like it's
9 possible you may have your knee infected right
10 now, Chris, based upon what she knows about
11 you.

12 MR. MELLINO: Are you testifying
13 for her?

14 MR. SEIBEL: No. No,

15 MR. MELLINO: Good.

16 MR. SEIBEL: Just commenting on the
17 sillness of your questions.

18 MR. MELLINO: I don't think that's
19 one of your functions as an attorney.

20 MR. SEIBEL: Well, I have to stay
21 awake.

22 MR. MELLINO: That's not one of my
23 jobs. I'm going to ask her questions and she
24 answers. If you have an objection --

25 MR. SEIBEL: I think she did

1 answer.

2 MR. ZELLERS: She did say it would
3 be very unlikely.

4 MR. MEELINO: Right.

5 MR. ZELLERS: Is there anymore you
6 could add?

7 Q. It would be possible though, wouldn't it,
8 doctor?

9 MR. ZELLERS: Objection,

10 A. Being the man was on vancomycin which adequately
11 covered the bacteria isolated from his
12 superficial wound it's very unlikely that the
13 knee joint would become infected at that
14 particular organism.

15 Q. Well, was that a, something that you and
16 Dr. Tomford were concerned about at the time?

17 MR. ZELLERS: Objection.

18 A. On the 17th?

19 Q. Yes.

20 A, We were concerned about it when we first saw the
21 case.

22 Q. Which was on the 13th, you mean?

23 A. We saw the case together on the 14th.

24 Q. Okay. Well, on the 14th I take it you were
25 concerned about the knee being infected?

1 A. Correct.

2 Q. Okay. I guess my question, maybe I didn't ask
3 it right, were you concerned on the 17th about
4 the possibility of the infection from the wound
5 spreading into the knee joint?

6 MR. ZELLERS: Objection.

7 A. No.

8 a. All right, Your recommendation on the 17th was
9 continued vancomycin at 500 -- what is that,
10 IV?

11 A. 'Yes.

12 Q. Every six hours?

13 A. Correct.

14 Q. At that time did you have -- how are antibiotics
15 ordered? Are they ordered for a number of
16 days?

17 A. This particular drug had to be approved by the
18 infectious disease service and it had to be
19 reordered periodically. I don't remember how
20 often.

21 Q. Okay. How long do you usually give it for?

22 MR. ZELLERS: Objection.

23 A. It depends on what it's being used for.

24 Q. How long is it usually given for in a patient
25 with a superficial wound infection?

1 A. It depends on the clinical response of the
2 infection. You can't set forth a time course
3 for antibiotic duration at the initiation of
4 therapy.

5 Q. Is there a minimum amount of time it should be
6 given?

7 MR. ZELLERS: Objection.

8 A. No.

9 Q. Okay. So you could give it for one or two days
10 and if he responds clinically you can
11 discontinue it?

12 MR. ZELLERS: Objection.

13 A. This particular drug or any drug?

14 Q. Yes, Vancomycin.

15 A, I wouldn't give it for one or two days.

16 Q. Okay. Well, is there a minimum amount of time
17 that you would give vancomycin?

18 A. In what particular situation?

19 Q. In Mr. Cates' situation,

20 A. We gave him the drug so long as we felt it was
21 necessary.

22 | Q. Well, if you're treating a superficial wound
23 infection, is there a minimum amount of time
24 that you would give vancomycin?

25 A, I don't know how to answer that question.

1 Q. Okay. Well, you said before you wouldn't give
2 it for one or two days and stop it, isn't that
3 true?

4 A. In this case, no, I wouldn't.

5 Q. Okay. Would you give it for five days and stop
6 it?

7 MR. ZELLERS: Objection. You're
8 talking now in general, not this case?

9 MR. MELLINO: I'm talking in
10 general, but for a superficial wound infection.

11 A. Again, it depends on how the patient clinically
12 responds to the antibiotic.

13 Q. Okay. So there might be some cases where a
14 person has a superficial wound infection and you
15 would give five days of vancomycin treatment and
16 then stop it if he responded clinically?

17 MR. ZELLERS: Objection.

18 Q. If the infection responded clinically?

19 A. That's possible.

20 Q. Okay. Is there a minimum amount of time that
21 you would give vancomycin for an infected knee
22 prosthesis?

23 A. If a prosthetic joint was infected antibiotics
24 alone are not going to eradicate the infection,

25 Q. Okay. How would you eradicate the infection?

1 A. By removing the prosthesis.

2 Q. After removing the prosthesis, how long would
3 you continue or how long would you give
4 vancomycin?

5 MR. ZELLERS: Objection,

6 A. For a period of weeks.

7 Q. Okay. How many weeks?

8 MR. ZELLERS: If you can say.
9 Objection.

10 A. I'm not sure.

11 Q. Okay. When is the next time you saw Mr. Cates?

12 A. My next note is on the 20th.

13 Q. Okay. Once again you don't know if this is the
14 next time you saw him, but this is the next time
15 you recorded anything in the chart?

16 A. That's correct.

17 Q. Could you read that note into the record?

18 A. Infectious disease. Would not make any changes
19 in the vancomycin dose at this time. His
20 creatinine was 0.8, and I noted we were
21 following daily,

22 Q. Okay. Did you have the results from the repeat
23 culture when you saw him on the 20th?

24 A. I didn't document it **at** that time,

25 Q. And I take it you don't remember if you did or

1 you didn't?

2 A. At that particular time I don't remember.

3 Q. Okay. Would you be able to tell by looking at
4 the lab sheets?

5 A. Yes. It says no growth in three days,

6 Q. Okay. Can you tell though by the lab sheet when
7 you got those results?

8 A. No, I can't,

9 Q. Okay. The time that's on the sheet, was that
10 the time that the lab gets them or the time that
11 they're reported or what does that time mean?

12 A. This says that the lab logged in the specimens
13 at 3:27 in the afternoon on November 17th.

14 Q. Okay. There is no record that tells when these
15 results are reported?

16 A. Well, it must have been three days later, It
17 says no growth in three days,

18 Q. Okay.

19 MR. SEIBEL: Just for the record,
20 that's Specimen 13561? Am I looking at the
21 right thing?

22 THE WITNESS: Yes.

23 MR. SEIBEL: Okay.

24 Q. When is the next time you saw Mr. Cates?

25 A. My next note is on November 29th.

1 Q. Okay, Read that note into the record.

2 A. November 29th. Infectious disease, Day No. 14
3 of vancomycin. The knee looks very good without
4 erythema or drainage. Plan to discontinue
5 vancomycin after today. Creatinine 1.0.

6 Q. Okay. Once again you haven't documented any lab
7 results in that note?

8 A. Uh-huh.

9 Q. Do you know if you had the lab results of the
10 repeat culture at that time?

11 A. I didn't document it, but I'm sure I knew it.

12 Q. Okay, And on what facts did you base your
13 decision to discontinue vancomycin?

14 A. The appearance of the superficial right knee
15 wound.

16 Q. Was it your decision?

17 A. It was a joint decision with my attending.

18 Q. Okay. Did he see the wound?

19 A. I don't recall on which days he saw the wound.

20 Q. Okay, So you don't know if he saw it on
21 November 29th or not?

22 A. No, I don't,

23 Q. Did Dr. Matejczyk have any input into
24 discontinuing the vancomycin?

25 A. I don't know that,

1 Q. Well, did you talk to her about it?

2 A. I did not talk to her.

3 Q. Okay. You don't know if Tomford talked to her
4 or not?

5 A. I don't know that.

6 Q. Okay. Do you have any other notes in here from
7 this November admission?

8 A. No, I don't,

9 Q. Okay. Did you ever see the patient after
10 November 29th?

11 A. During this admission?

12 Q. No. After this admission,

13 A. I saw him once.

14 Q. When?

15 A. I was teaching history and physicals, I asked
16 him if he would mind having a medical student
17 talk with him.

18 Q. When was this?

19 A. I don't recall the month. I believe it was in
20 the spring.

21 Q. Of '88?

22 A. Of '88.

23 Q. Okay. I want to go back to some of your earlier
24 notes for a minute. Your first note on the
25 14th, it says that there is obvious purulent

1 drainage?

2 A. Uh-huh.

3 Q. What does that indicate to you?

4 A. That there is an area of inflammation.

5 Q. Okay. And what does an area of inflammation
6 tell you?

7 A. That that area is infected,

8 Q. Okay. What about on the line above that, it
9 says, "right knee found to have appreciable --"
10 what is that word?

11 A. Effusion.

12 Q. Okay. What's that mean?

13 A. That's fluid within the joint.

14 Q. What does that tell you?

15 A. That there is inflammation.

16 Q. Does that tell you there is an area of
17 infection?

18 A. No.

19 Q. Okay. Why would there be fluid in the joint?

20 MR. ZELLERS: Objection.

21 A, You can develop joint effusion from
22 noninfectious processes.

23 Q. Okay. Can you develop it from infectious
24 processes?

25 MR. ZELLERS: Objection.

1 A. It's possible.

2 Q. Okay. Am I correct that the only cultures you
3 did were the ones on the 13th in the orthopedic
4 clinic and the repeat culture of the wound on
5 the -- was that on the 17th?

6 A. That's correct.

7 Q. Okay. How does it work when you're on the
8 clinical service as far as when you're called to
9 consult on a patient? Do you continue to follow
10 the patient? Do you only see the patient if
11 you're asked? How does that work?

12 A. This is after the initial evaluation?

13 Q. Yes.

14 A. We follow them until we feel that they no longer
15 need infectious disease advice.

16 Q. Did you stop seeing this patient because you
17 felt he no longer needed infectious disease
18 advice or was it because he was being discharged
19 from the hospital?

20 A. I felt he no longer needed infectious disease
21 advice.

22 Q. Okay. On your note of the 14th also, we talked
23 about this before, I forgot how you pronounce
24 that word again, ery --

25 A. Erythema.

1 Q. Erythema. Okay. You told me that -- what did
2 you say that was again?

3 A. Erythema?

4 Q. Yes. What was it?

5 A. It's redness.

6 Q. Okay. And what does it mean if there is
7 redness?

8 A. That there is probably an associated cellulitis,

9 Q. And what does it mean if there is cellulitis?

10 MR. ZELLERS: Objection.

11 A. That there is soft tissue inflammation.

12 Q. Okay. And what's the significance of soft
13 tissue inflammation?

14 MR. ZELLERS: Objection.

15 Q. Does that give you any indication of whether
16 there is infection or not?

17 A. It's possible.

18 Q. Okay. The reason I asked that was because a
19 later note, we were going through them, you had
20 on there, you have in this note that there is no
21 erythema and the later note you say there is
22 decreased erythema, Do you remember that note?

23 A. 'Yes, I do.

24 Q. Okay. Isn't that inconsistent?

25 MR. ZELLERS: Objection.

1 A, I'm not really sure by reading my note what area
2 I was trying to describe.

3 Q. Which note are you talking about now?

4 A. My initial note.

5 Q. Okay. So -- go ahead. What were you going to
6 say? Was there erythema on the 14th?

7 A. I have no on my chart,

8 Q. Okay. What would it mean if there was no
9 erythema on the 14th -- I'm sorry. Do you
10 remember what note it was that you had decreased
11 erythema, what day that was? What day was it?

12 A. November 17th. I wrote decreased erythema,
13 though warmth and effusion remain.

14 Q. Okay. And on the 14th you wrote no erythema.
15 What's the next word?

16 A. Or warmth noted,

17 Q. Okay. What would it mean if erythema and warmth
18 developed between the 14th and the 17th?

19 MR. **ZELLERS**: Objection.

20 A. I'm not sure from reading my note whether I was
21 describing the ulcer over the knee or the
22 appearance of the knee itself.

23 Q. What difference would it make?

24 A. Et would make a big difference. I'm either
25 describing the superficial ulcer or the knee

1 itself.

2 Q. Well, what would be the significance of erythema
3 and warmth developing between the 14th and the
4 17th if you were describing the knee?

5 MR. ZELLERS: Objection.

6 A. It would mean there is still inflammation
7 present.

8 Q. Well, I thought you said there was no
9 inflammation on the 14th? No cellulitis?

10 A. Well, there was an ulcer over the knee,

11 Q. Okay.

12 A. An open ulcer draining pus, That's
13 inflammation.

14 Q. Well, I thought we were -- I asked you if this
15 was describing the knee, you were
16 differentiating between describing the knee and
17 describing the wound.

18 A. Right.

19 Q. Okay.

20 A. Right.

21 Q. When you say ulcer are you talking about the
22 wound?

23 A, And I can't tell from my notes, it's very
24 difficult to discriminate between the two.

25 Q. Right. I understand that.

1 A. But from recollection.

2 Q. I just wanted to make sure we're talking about
3 the same thing. When you talk about the ulcer,
4 you're talking about the wound?

5 A. That's correct,

6 Q. My question now is let's assume that your two
7 notes are talking, describing the knee rather
8 than the wound, okay, your November 14th note
9 and your November 17th note,

10 A. Okay.

11 Q. Okay. What would be the significance of there
12 being no erythema, warmth or cellulitis on the
13 14th and having those findings on the 17th?

14 MR. ZELLERS: Objection.

15 A. I think my original note did not give an
16 accurate description of the man's knee at the
17 time of the admission.

18 Q. Well, would erythema, warmth and cellulitis be
19 signs of infection?

20 MR. ZELLERS: Objection,

21 A. Yes.

22 Q. Okay. So if they weren't present on the 14th
23 and they were present on the 17th, that could
24 tell us that there was infection that developed
25 in that time period?

1 MR. ZELLERS: Objection,

2 A. This man's knee did nothing but improve during
3 this hospital course.

4 Q. Well, my question really wasn't directed toward
5 this patient. My question is you have findings
6 of no erythema, warmth or cellulitis on November
7 14th and three days later you have those
8 findings. Would that be an indication to you as
9 an infectious disease doctor that an infection
10 has developed in that interim period?

11 MR. ZELLERS: Objection.

12 A. It's possible,

13 Q. Okay. Did you have -- did you render anymore
14 treatment to **this** patient after November 29th?

15 A. No.

16 Q. Okay. Did you receive any phone calls from
17 Dr. Matejczyk regarding this patient?

18 MR. ZELLERS: After November 29th?

19 MR. MELLINO: Yes.

20 A. I do not recall any phone calls from
21 Dr. Matejczyk.

22 Q. Okay. Are you aware of Dr. Matejczyk's note
23 regarding a telephone conversation with
24 infectious disease on December 30th, 1987?

25 MR. ZELLERS: Objection.

1 A. I read her deposition.

2 Q. Okay. Well, did you read the note?

3 A. No.

4 Q. Okay. And if you read her deposition you know
5 that she feels that she talked to you on the
6 30th?

7 MR. ZELLERS: Objection.

8 Q. Would that be --

9 A. I don't recall any conversation with
10 Dr. Matejczyk,

11 Q. Okay, Do you need to answer that page?

12 A. I will get it later.

13 Q. Okay. But you told me you read her deposition?

14 A. Yes.

15 Q. Okay. And did you read there when she said that
16 she thought she talked to the infectious disease
17 person that followed Mr. Cates in the hospital?

18 A. I read her deposition, yes.

19 Q. Do you remember reading that part?

20 A. Yes, I do.

21 Q. Okay. But you think she's wrong about that?

22 MR. ZELLERS: Objection.

23 MR. SEIBEL: Objection.

24 A. I don't recall any conversation with her.

25 Q. Okay. If she wanted infectious disease advice

1 on the 30th, would you have been the one that
2 she would call on this patient?

3 MR. ZELLERS: In December?

4 MR. MELLINO: Right.

5 A. I was not on service at that time.

6 Q. I understand that. But would you have been the
7 one that she called?

8 MR. ZELLERS: Objection.

9 A. She is able to attempt to contact any member of
10 the infectious disease department.

11 Q. Okay. Is there -- well, are there any policies
12 and procedure at the hospital about who an
13 attending would call in this situation if they
14 wanted a consult?

15 A. Not really.

16 Q. You were the one that followed him in the
17 hospital?

18 A. Right.

19 Q. And Dr. Blinkhorn apparently had no contact with
20 him prior to December 30th --

21 MR. ZELLERS: Objection.

22 Q. -- 1987?

23 MR. ZELLERS: Objection.

24 A. I don't know that.

25 Q. Okay. Well, let me just ask you to assume that

1 he didn't, Okay?

2 A. Okay.

3 Q. You were the one that followed Mr. Cates in the
4 hospital?

5 A. Right.

6 Q. Okay. Would there be any policy and procedure,
7 either formal or informal, or rules of the
8 hospital that would dictate who Dr. Matejczyk
9 should have called as between you or Blinkhorn?

10 A. In general when a person wants an **ID** opinion
11 they would call the infectious disease fellow on
12 service.

13 Q. Okay. That would be the normal policy is what
14 you're telling me?

15 A. That's the normal policies.

16 Q. I take it that's just an informal policy, there
17 is nothing written down?

18 A. That's correct.

19 Q. Okay. Do you remember what you were doing in
20 December of '87?

21 A. Part of the month, yes,

22 Q. Okay. What?

23 A. I was on vacation until mid-December,

24 Q. Okay. And then what were you doing the second
25 half of December? You were on your research

1 parts of it?

2 A. I was in the research lab.

3 Q. Where is the research lab?

4 A. On the second floor of the research building.

5 Q. Which is where?

6 A. It is at Metro.

7 Q. Okay, And when you're on the research -- what
8 did you call it, research lab?

9 A. Uh-huh.

10 Q. Sou come in at a certain time and leave at a
11 certain time?

12 A. The hours are much more liberal.

13 Q. Okay, Do you wear a beeper during that time?

14 A. I can't remember if I had a beeper at that
15 time.

16 Q. Okay. How about in general when you were on
17 research, would you wear a beeper?

18 A. You see, they obtained several more beepers
19 because they took on more ID fellows, but at
20 that particular time, and I can't swear to this,
21 I don't think I had a beeper.

22 Q. Okay.

23 A. Because it was just Rick and I and we traded the
24 beeper back and forth.

25 Q. So if she wanted to call you, she would have had

1 to call the research lab?

2 A. That's correct.

3 Q. And you may have been there, you may not have
4 been there?

5 MR. ZELLERS: Objection.

6 Q. I mean you weren't necessarily there from like
7 7:30 to 6:00 every day?

8 A. That's correct. I had no defined hours.

9 Q. Okay. You said that you hadn't read this note
10 before?

11 MR. ZELLERS: She still hasn't.

12 MR. MELLINO: Okay. Why don't you
13 take a look at it? Can you read that?

14 A. Yes.

15 Q. Okay, Read it out loud for the record?

16 .A. 12/30/87. No treatment, I suppose, no
17 antibiotics per ID of wound --

18 Q. If?

19 A. ID if wound fine, Path report, Rheumatoid
20 nodules exclamation point. Wound check
21 excellent.

22 Q. What's the date?

23 A. 12/30.

24 Q. Okay. Is that advice that you would give out
25 over the phone?

1 MR. ZELLERS: Objection. If you
2 can, answer,

3 A. Not usually,

4 Q. Okay. Why not?

5 MR. ZELLERS: Objection.

6 A. In general I like to see whatever people are
7 asking me advice on.

8 Q. Well, would that be the lab results or would you
9 want to see the patient yourself?

10 A. I would want to see the patient.

11 Q. All right. Would you also want to see the lab
12 results?

13 A. Certainly.

14 Q. Okay. Does reading this note assist you at all
15 in determining whether or not Dr. Matejczyk
16 called you?

17 MR. ZELLERS: Objection.

18 A. No.

19 Q. Okay. I mean, does the fact that this is, isn't
20 something, advice that you would normally give,
21 would that tell you that she didn't call you?

22 A. No,

23 Q. Okay. Would it be appropriate not to give
24 antibiotic therapy based on the appearance of
25 the wound alone?

1 MR. ZELLERS: Objection,

2 A. I'm sorry? Could you say that again?

3 Q. Would it be appropriate to not give antibiotic
4 therapy based on the appearance of the wound
5 alone? This is in general,

6 A. It's possible.

7 Q. Okay. Would **it** be appropriate to not give
8 antibiotic therapy based upon the appearance of
9 the wound if there was cultures done eight days
10 earlier that showed growth of staph aureus?

11 MR. ZELLERS: Objection.

12 A, It's possible,

13 Q. It's possible that that would be appropriate?

14 A. Uh-huh.

15 Q. Okay. Well, under what circumstances would that
16 be appropriate?

17 A. If the appearance of the wound was such that it
18 did not look infected, I would not treat it with
19 an antibiotic.

20 Q. And is an orthopedic surgeon equally as
21 qualified as an infectious disease doctor to
22 look at a wound and determine whether or not it
23 is infected?

24 MR. SEIBEL: Objection,

25 A. It's possible,

1 Q. Well, is Dr. Matejczyk equally as qualified as
2 you or Dr. Blinkhorn or -- well, start with
3 those two, you and Dr. Blinkhorn to look at a
4 wound and determine if it is infected?

5 MR. ZELLERS: Objection.

6 MR. SEIBEL: Objection.

7 a. I don't know her educational background.

8 Q. Well, have you worked with her?

9 A. On several occasions,

10 Q. Okay, Based on your working with her, would you
11 say that she's more or less qualified to look at
12 a wound and determine whether or not it's
13 infected?

14 A. Yes, I think she's qualified.

15 Q. The question was was she more or less qualified
16 than you or Dr. Blinkhorn to determine that?

17 MR. ZELLERS: Objection.

18 A. I can't answer that,

19 Q. Okay. Would you be able to determine if the
20 knee prosthesis was infected by looking at the
21 wound alone?

22 A. No.

23 Q. Okay. And I take it if the knee was infected
24 that it would not be within the standard of care
25 to not give antibiotic therapy based on the

1 wound appearance?

2 MR. ZELLERS: Objection,

3 MR. SEIBEL: I didn't hear that
4 question. Would you read it back, Ralph?

5 - - - -

6 (Thereupon, the requested portion of
7 the record was read by the Notary,)

8

9 MR. SEIBEL: Objection. What do
10 you mean when you say the knee was infected,
11 Chris? That's a little vague.

12 MR. MELLINO: I mean the knee
13 prosthesis.

14 A. This is in general, this doesn't relate to this
15 specific case?

16 MR. ZELLERS: Is that your
17 question?

18 MR. MELLINO: It may or may not be.

19 MR. ZELLERS: Well, she's trying to
20 answer it.

21 Q. Yes.

22 A. If a prosthetic joint was infected --

23 Q. Right.

24 A, -- regardless of what a superficial wound looks
like, it would be inappropriate not to

1 administer antibiotics.

2 Q. Okay. I take it based on your previous answers
3 that you weren't involved at all with the
4 outpatient surgery that Mr. Cates had on
5 December 22?

6 A. No, I wasn't.

7 Q. Were you made aware of any of the lab cultures
8 from that procedure?

9 A. No, I wasn't.

10 Q. Okay. What would be the relationship between
11 you and Dr. Tomford as far as your consultation
12 with this patient? Would you basically just
13 report your findings and clinical observations
14 to him and he would make the decision as to
15 treatment or would you recommend treatment plans
16 and he would approve them or how would that
17 work?

18 A. We present the case.

19 Q. Well, who is we?

20 A. I present the case,

21 Q. Okay.

22 A. Give him the clinical findings, tell him what I
23 had recommended and then as a group, the entire
24 ID service for that month, goes up with him to
25 see the patient, to examine the patient, and he

1 decides whether or not he agrees with my
2 clinical assessment and my recommendations. And
3 if any modifications need to be made, they're
4 made at that time.

5 Q. Okay. Who was the ID group in November of '87?

6 A. I can't recall. Residents rotate through the
7 service on a monthly basis,

8 Q. Okay. Well, I didn't mean specific names, but
9 it would be the attending?

10 A. The attending, the ID fellow, residents, medical
11 students.

12 Q. Okay. And they would all be present when you
13 presented the case?

14 A. That's correct.

15 Q. Do you know if the orthopedic service made a
16 diagnosis independent of yours as far as the
17 knee was concerned?

18 A. The orthopedic service or the orthopedic
19 resident?

20 Q. Well, isn't the orthopedic resident on the
21 orthopedic service?

22 A. He's on the service, but I mean the service
23 would be the group of residents and the chief
24 who make their rounds every day.

25 Q. All right. Well, let me ask a more specific

1 question, The discharge summary contains an
2 admitting diagnosis of infected right total knee
3 arthroplasty. Okay? You can look at it if you
4 want, Whose diagnosis is that?

5 A. That's what the resident thought at the time of
6 admission.

7 Q. Okay. That's also what you thought?

8 A. I felt it was a possibility, yes.

9 Q. Well, you felt it was a probability?

10 A. A probability.

11 Q. Okay.

12 A. I stand corrected.

13 Q. Okay. Can I see the original chart for a
14 minute? Okay, This discharge order, okay, it
15 has Number 1, principal discharge diagnosis,
16 infected total knee arthroplasty?

17 A. Yes.

18 Q. Whose diagnosis is that?

19 MR. ZELLERS: Objection.

20 A. In general they have nurses go around and take
21 items from the chart and complete this and then
22 the attending physician is supposed to review
23 what's listed here and decide whether or not
24 they agree with that.

25 Q. Okay. Do you know where they would get the

1 diagnosis from?

2 A. The nurses?

3 Q. Yes.

4 A. I'm speculating **from** reading the chart.

5 MR. ZELLERS: So you don't know.

6 A. I don't know. I'm sorry.

7 Q. Do you know who lined that out?

8 A. No, I don't know.

9 Q. Do you know whose writing this is, superficial
10 wound breakdown?

11 A. I don't know whose writing that is.

12 Q. It's not yours?

13 A. It's not mine.

14 Q. And you didn't line that out?

15 A. No. That's not my writing.

16 Q. Okay. Did you write any other diagnosis in the
17 chart other than what you wrote on the 14th, the
18 probable infected knee prosthesis?

19 MR. ZELLERS: Objection.

20 A. No, I didn't,

21 Q. Okay.

22 MR. MELLINO: I don't have any
23 other questions of the doctor.

24 MR. SEIBEL: Maybe just one or
25 two.

1
2
3
4
5
6
7
8
9
0
1
2
3
4
5
6
7
8
9
0
1
2
3
4
5

BY MR. SEEBEL:

Q. Doctor, as far as you're concerned in this hospitalization from 11/13/87 to 12/2/87 did Mr. Cates have an infected right knee prosthesis?

A. No, he did not.

Q. And for the infection that he did have, was that infection being managed by the infectious disease service here at the hospital?

A. Yes, it was.

MR. SEIBEL: I don't have anything further.

MR. ZELLERS: Okay. We will not
waive signature, You're done.

ROBERTA L. PERSAUD, M.D.

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Ralph A. Cebren, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named ROBERTA L. PERSAUD, M.D., was by me, before the giving of her deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 _____

Ralph A. Cebren, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 20, 1993