
DEPOSITION OF JOHN J. PETRUS, M.D.

Dorothy Ross vs. Bennie Allison, M.D.

Page 1 to Page 84

SCANNED
4/27/01

CONDENSED TRANSCRIPT AND CONCORDANCE
PREPARED BY:

Tackla & Associates
1700 Superior Building
815 Superior Avenue
Cleveland, OH 44114
Phone: (216)241-3918
FAX: (216)241-3935

SCANNED

Page 1

(1) The State of Ohio,)
 (2) County of Cuyahoga.)SS:
 (3) IN THE COURT OF COMMON PLEAS
 (4) Dorothy Ross,)
 (5) Plaintiff,)Case No.
 (6) -vs-)98CV358014
 (7) Bennie Allison, M.D.,)
 (8) et al.,)
 (9) Defendants.)
 (10)
 (11)
 (12) Deposition of JOHN J. PETRUS, M.D., an
 (13) expert witness herein, called by the
 (14) Plaintiff as if upon cross-examination under
 (15) the statute, and taken before Luanne Stone,
 (16) a Notary Public within and for the State of
 (17) Ohio, pursuant to the agreement of counsel,
 (18) and pursuant to the further stipulations of
 (19) counsel herein contained, on Tuesday, the
 (20) 8th day of February, 2000 at 224 West
 (21) Exchange Street, the City of Akron, the
 (22) County of Summit and the State of Ohio.
 (23) --- oOo ---
 (24)
 (25)

Page 2

(1) APPEARANCES:
 (2)
 (3) On behalf of the Plaintiff:
 (4) Chattman, Gaines & Stern, by:
 (5) John Scharon, Esq.
 (6)
 (7)
 (8) On behalf of the Defendants:
 (9) Roetzel & Andress, by:
 (10) Joseph E. Herbert, M.D.
 (11)
 (12)
 (13)
 (14) --- oOo ---
 (15)
 (16)
 (17)
 (18)
 (19)
 (20)
 (21)
 (22)
 (23)
 (24)
 (25)

Page 3

(1) PROCEEDINGS
 (2) JOHN J. PETRUS, M.D., being of
 (3) lawful age, having been first duly sworn
 (4) according to law, deposes and says as
 (5) follows:
 (6) CROSS-EXAMINATION OF JOHN J. PETRUS, M.D.
 (7) (At this time Plaintiffs Exhibit
 (8) 1 was marked for identification purposes.)
 (9) BY MR. SCHARON:
 (10) Q Doctor, would you just state your full
 (11) name for the record?
 (12) A John Joseph Petrus, M.D.
 (13) Q Thanks. We've marked as Plaintiff's
 (14) Exhibit No. 1 your CV. Just have a look at
 (15) it and satisfy yourself that that is your
 (16) current, up-to-date CV.
 (17) A Yes.
 (18) Q Great, thanks. We'll make a copy of it
 (19) and attach it to this transcript. I haven't
 (20) seen it before today so I'm going to ask you
 (21) a couple of questions that I planned to ask
 (22) you, assuming I wouldn't see one of these.
 (23) Where did you do your medical training?
 (24) A Medical school --
 (25) Q Yes.

Page 4

(1) A -- or postgraduate?
 (2) Q Start with medical school.
 (3) A Northeastern Ohio Universities College
 (4) of Medicine.
 (5) Q Where is that?
 (6) A Rootstown, Ohio.
 (7) Q Okay. When did you graduate there?
 (8) A 1984.
 (9) Q What kind of training did you have
 (10) after that?
 (11) A Internal medicine, hematology, medical
 (12) oncology.
 (13) Q What did you do first; an internal
 (14) medicine residency?
 (15) A An internal medicine residency.
 (16) Q Where did you do that?
 (17) A Akron General.
 (18) Q How long did it last?
 (19) A Three years.
 (20) Q What did you do at the end of that
 (21) residency?
 (22) A I did one year of my fellowship in
 (23) hematology/medical oncology at The Cleveland
 (24) Clinic.
 (25) Q And then, since that time, have you

Page 5

(1) been in private practice?
 (2) A No. Then I did two additional years at
 (3) the Medical College of Georgia in
 (4) hematology/medical oncology.
 (5) Q That was a fellowship?
 (6) A That was a fellowship.
 (7) Q Okay. You're board certified in
 (8) internal medicine and also in the
 (9) subspecialties of hematology and oncology?
 (10) A I'm board certified in internal
 (11) medicine, hematology, medical oncology and
 (12) pain medicine.
 (13) Q Is the pain medicine board a fairly new
 (14) board?
 (15) A It's a fairly new board, not completely
 (16) recognized everywhere, but it was pretty
 (17) rigorous.
 (18) Q This is the first time I've seen that
 (19) one.
 (20) A It's mostly anesthesiologists.
 (21) Q Have you published any works in the
 (22) literature?
 (23) A I had -- I was second or third author
 (24) on a paper a few years ago. It had to do
 (25) with a drug called Amifostine, A-M-I-F-O-S-

Page 6

(1) T-I-N-E, in combination with the
 (2) chemotherapy drug, Carboplatin, C-A-R-B-O-
 (3) P-L-A-T-I-N, to see if it diminished the
 (4) bone marrow toxicity.
 (5) Q I may have missed it here as I'm
 (6) looking through the CV. Do you teach
 (7) medicine?
 (8) A Yes.
 (9) Q In what capacity?
 (10) A I am the head of the hematology/
 (11) oncology service at the medical school, and,
 (12) so, I'm responsible for coordinating the
 (13) hematology/oncology curriculum for the
 (14) sophomore medical students. I also teach
 (15) clinical ethics to the junior medical
 (16) students, and to the senior medical
 (17) students, I have a hematology/medical
 (18) oncology rotation that they can elect to
 (19) study under.
 (20) I'm also involved in teaching the
 (21) internal medicine residents as well as the
 (22) other residents here at Akron General
 (23) Medical Center.
 (24) Q Okay. So, as they're doing their
 (25) residency at Akron General, they will work

Page 7

(1) with you --
 (2) A Yes.
 (3) Q -- on rounds and so forth?
 (4) A Yes.
 (5) Q Okay. That's clinical teaching?
 (6) A Clinical teaching.
 (7) Q Okay. Where are you licensed to
 (8) practice medicine?
 (9) A Ohio.
 (10) Q Okay. Is this the only state you've
 (11) ever been licensed in?
 (12) A Well, I was in Georgia for two years,
 (13) so I was licensed in Georgia.
 (14) Q And have you let that go?
 (15) A Yes.
 (16) Q Has your license ever been negatively
 (17) affected in any state?
 (18) A No.
 (19) Q Has any action ever been taken against
 (20) your license?
 (21) A No.
 (22) Q How about, has there ever been any
 (23) action against your privileges at any
 (24) hospitals?
 (25) A No.

Page 8

(1) Q Where do you have privileges?
 (2) A Akron General, Summa, Barberton.
 (3) Q That's old City Hospital, right?
 (4) A The old City Hospital; that's right.
 (5) Q Okay.
 (6) A Barberton Citizens Hospital, Cuyahoga
 (7) Falls Hospital, and then there's a hospital
 (8) within Barberton Hospital called Specialty
 (9) Hospital.
 (10) Q Okay. Are all of those full
 (11) privileges, or are some of them courtesy
 (12) privileges?
 (13) A I have -- geez, these are complicated
 (14) questions. I have full privileges
 (15) everywhere, I think, yes.
 (16) Q Where do you see most of your patients?
 (17) A Ninety-nine percent of my patients are
 (18) at Akron General.
 (19) Q Did you pass all of your certifications
 (20) on the first try?
 (21) A Yes.
 (22) Q Let's see; we're here taking your
 (23) deposition in this case called Ross versus
 (24) Allison, et al. You've been named as an
 (25) expert witness for the defendants, so we're

Page 9

(1) taking your deposition here at your offices,
 (2) nearby your offices in the Physicians'
 (3) Medical Building next to Akron General
 (4) Hospital.
 (5) A Yes.
 (6) Q Do you have any other offices?
 (7) A Not that I practice out of.
 (8) Q Can you describe for us the nature of
 (9) your medical practice?
 (10) A That's hard. A major -- major
 (11) interests of mine are end-of-life care. I
 (12) do a lot of hospice care. I also do a lot
 (13) of hematology, a lot of medical oncology,
 (14) and because of my pain medicine interests, I
 (15) also do a lot of chronic nonmalignant pain.
 (16) Q Okay. Can you break your interests
 (17) down by percentages or give me some rough
 (18) idea? How much time, for instance, do you
 (19) spend on medical oncology, and how much of
 (20) your time do you spend on hematology?
 (21) A Let's see; I would say -- there's a lot
 (22) of crossover, you know, as I change hats
 (23) among all of these different things. I will
 (24) say 20 percent nonmalignant pain; 30 percent
 (25) hematology; 30 percent oncology; 20 percent

Page 10

(1) hospice.
 (2) Q All right. Do you have any straight
 (3) internal medicine patients?
 (4) A Indirectly. Patients I have assumed
 (5) that have had initial hematology/medical
 (6) oncology problems, I also do their internal
 (7) medicine.
 (8) Q Have you ever given a deposition before
 (9) today?
 (10) A Yes.
 (11) Q How many?
 (12) A Five, six.
 (13) Q Under what circumstances?
 (14) A One -- two as plaintiff; three as an
 (15) expert witness.
 (16) Q I'm sorry, two as plaintiff what? You
 (17) said "two as plaintiff."
 (18) A Well, as --
 (19) Q As an expert witness?
 (20) A No, where I was the one being sued.
 (21) Q Oh, okay, as a defendant?
 (22) A As the defendant, I'm sorry.
 (23) Q That's okay.
 (24) A Three as expert witness and then one
 (25) for federal court. One of the medical

Page 11

(1) students was suing the medical school for
 (2) wrongful dismissal, and I was part of the
 (3) Academic Review and Promotions Committee.
 (4) Q There were no standard-of-care issues
 (5) involved in that case?
 (6) A No.
 (7) Q So, let's put that one to the side.
 (8) Did you give the two depositions as a
 (9) defendant in the same case or in more than
 (10) one case?
 (11) A Two different cases.
 (12) Q Okay. Are those the only two cases
 (13) that have ever been filed against you?
 (14) MR. HERBERT: Note my objection.
 (15) You can answer.
 (16) THE WITNESS: That have been
 (17) filed?
 (18) BY MR. SCHARON:
 (19) Q Yes.
 (20) A Probably, there have probably been
 (21) additional filings.
 (22) Q Do you know how many?
 (23) A Maybetwo.
 (24) Q Two others?
 (25) A Uh-huh.

Page 12

(1) Q So, a total of four?
 (2) MR. HERBERT: Note my objection.
 (3) Answer to the best of your knowledge, if you
 (4) know.
 (5) THE WITNESS: I'm assuming, yes.
 (6) BY MR. SCHARON:
 (7) Q Were all of those cases filed here in
 (8) Summit County?
 (9) MR. HERBERT: Can I just have a
 (10) continuing objection, John?
 (11) MR. SCHARON: Sure.
 (12) MR. HERBERT: All right.
 (13) THE WITNESS: No.
 (14) BY MR. SCHARON:
 (15) Q Okay. Where were they?
 (16) A One was Zanesville, whatever county
 (17) that is.
 (18) Q I'm sorry, one was what?
 (19) A Zanesville. I think one might be
 (20) Portage.
 (21) Q Ravenna?
 (22) A Yes, and for the rest, I'm assuming
 (23) Summit.
 (24) Q What was the nature of the claim made
 (25) against you in Zanesville?

Page 13

(1) A The patient died as a complication of a
 (2) bone marrow procedure.
 (3) Q Where were you practicing at the time?
 (4) A Here.
 (5) Q Okay. How did the case come to be
 (6) brought in Zanesville, if you know?
 (7) A That's where the patient was from.
 (8) Q I see. What was the bone marrow
 (9) procedure that was being done?
 (10) A A sternal bone marrow.
 (11) Q And for what condition?
 (12) A He had massive, nonHodgkin's lymphoma,
 (13) and I was really working to make a diagnosis
 (14) in the situation, a very unusual
 (15) presentation.
 (16) Q Do you know how that case turned out?
 (17) A It settled.
 (18) Q Okay. Do you remember the names of any
 (19) of the attorneys in the case?
 (20) A No.
 (21) Q Do you remember the name of the case?
 (22) A No.
 (23) Q The patient?
 (24) A Jamie Hall.
 (25) Q H-A-L-L?

Page 14

(1) A Yes.
 (2) Q Were there other defendants besides you?
 (3) A The hospital.
 (4) Q Do you remember who was first named in
 (5) the case?
 (6) A Me.
 (7) Q Tell me about the case in Portage
 (8) County. What did it involve?
 (9) A That was a fairly recent filing, and
 (10) I'm still not certain what she's suing over.
 (11) Q Was it, in fact, a patient of yours?
 (12) A Yes.
 (13) Q And what was the condition that you
 (14) treated her for?
 (15) A Anemia.
 (16) Q So, that case is pending?
 (17) A Very pending.
 (18) Q Okay.
 (19) A I'd be surprised if I ever hear about
 (20) it again.
 (21) Q Who is representing her; do you know?
 (22) A I don't even know yet.
 (23) Q Do you know the patient's name? I'm
 (24) trying to identify the case.
 (25) A Oh, I recognize that. I'm sorry, but I

Page 15

(1) can't recall.
 (2) Q Are there other defendants?
 (3) A Yes.
 (4) Q Who is first named?
 (5) A Not me.
 (6) Q Do you know who is?
 (7) A McCluskey.
 (8) Q McCluskey?
 (9) A M-C-C-L-U-S-K-E-Y.
 (10) Q So, it's question mark versus
 (11) McCluskey. You don't remember the name of
 (12) the person?
 (13) A No.
 (14) Q Okay. Tell me about the Summit County
 (15) cases.
 (16) MR. HERBERT: Just note my
 (17) objection. If you can, go ahead.
 (18) THE WITNESS: One is still
 (19) pending, Stubblefield.
 (20) BY MR. SCHARON:
 (21) Q Okay, versus whom?
 (22) A Me.
 (23) Q Okay. What's the nature of that claim?
 (24) A They maintain that he was given an
 (25) overdose of pain medication or some such

Page 16

(1) thing.
 (2) Q And the other Summit County case?
 (3) A I don't even remember.
 (4) Q You don't remember the name?
 (5) A No.
 (6) Q Is it over with?
 (7) A It never blossomed into anything.
 (8) Q Okay.
 (9) A It's one of those things where you get
 (10) papers one day, and then you never hear
 (11) about it again.
 (12) Q In which of the cases did you give the
 (13) depositions?
 (14) A The Stubblefield case and the Hall
 (15) case.
 (16) Q Okay, and you've testified as an expert
 (17) in three cases?
 (18) A Yes.
 (19) Q Does that include this one or not?
 (20) A No.
 (21) Q There are three in addition to this
 (22) case?
 (23) A In addition to this.
 (24) Q Are those the only three malpractice
 (25) cases that you've consulted on, or have you

Page 17

(1) consulted on others, but it just didn't get
 (2) to the point of giving depositions?
 (3) A There was only one other that I
 (4) remember consulting on.
 (5) Q Okay. What percentage of your time
 (6) would you estimate you spend in legal
 (7) consulting?
 (8) A Almost none.
 (9) Q With respect to the cases that you
 (10) have done legal consulting on, were you
 (11) asked to consult by the plaintiff's
 (12) attorney, the defense attorney or some
 (13) combination?
 (14) A The plaintiff's attorney. The three --
 (15) three of the other cases, and I think there
 (16) were four. Three of the other cases were
 (17) patients of mine that were suing another
 (18) physician for one reason or another.
 (19) Q Okay.
 (20) A One was actually suing B.F. Goodrich
 (21) for asbestos exposure.
 (22) Q Okay. So, were the other three
 (23) malpractice cases?
 (24) A Two of them were, yes.
 (25) Q Okay. So, two malpractice cases. One

Page 18

(1) was versus B.F. Goodrich --
 (2) A Yes.
 (3) Q -- for asbestos disease.
 (4) A Yes.
 (5) Q And what was the other one for?
 (6) A Out of the malpractice cases?
 (7) MR. HERBERT: There are two
 (8) malpractice cases and one B.F. Goodrich
 (9) case.
 (10) THE WITNESS: Yes.
 (11) MR. HERBERT: Three patients.
 (12) THE WITNESS: One of the
 (13) malpractice cases was for a patient who died
 (14) of malignant melanoma, and his primary care
 (15) physician and the pathologist on record
 (16) failed to make the diagnosis.
 (17) BY MR. SCHARON:
 (18) Q Okay.
 (19) A And the second case was very weird. It
 (20) was a patient who was suing her own
 (21) insurance company. She was involved in a
 (22) car accident and ruptured her spleen. It
 (23) turned out that she had Hodgkin's disease in
 (24) her spleen, and her insurance company was
 (25) refusing to pay, saying that the reason her

Page 19

(1) spleen ruptured was because of the Hodgkin's
 (2) disease, that it wasn't because of her car
 (3) accident.
 (4) Q So, you count that as one of the
 (5) malpractice cases, as you termed it earlier?
 (6) A Yes.
 (7) Q So, I'm confused for a moment. Have
 (8) there been three or four of these
 (9) consultancies?
 (10) A Let me think. It seems there's another
 (11) one that I gave for another of my patients,
 (12) and I have two that are scheduled that I
 (13) haven't given yet. Maybe I'm mistaken.
 (14) Q Have you ever been asked to consult by
 (15) any other defense attorneys defending
 (16) malpractice cases? The melanoma case, was
 (17) that one like that?
 (18) A No.
 (19) Q That was for a patient?
 (20) A Yes.
 (21) Q So, in any of your legal consulting
 (22) work, have you consulted for defendants?
 (23) A No.
 (24) Q Have you ever testified or consulted
 (25) with Mr. Herbert before?

Page 20

(1) A No.
 (2) Q Or his firm, Roetzel & Andress?
 (3) A I don't know. I think I may have.
 (4) Q Okay. Would you remember which of
 (5) those matters they were involved in?
 (6) A I have no idea.
 (7) Q Okay. When were you first contacted in
 (8) this case? Do you recall that?
 (9) A I'm not certain. I'm not sure that I
 (10) could give you a date. It was over a year
 (11) ago.
 (12) Q Over a year ago?
 (13) A Yes.
 (14) Q Do you know how Mr. Herbert came to
 (15) contact you about this matter?
 (16) A I have no idea.
 (17) Q Do you advertise your availability --
 (18) A No.
 (19) Q --to consult? No?
 (20) A No.
 (21) Q Have you ever actually testified in
 (22) court in any of these matters?
 (23) A The B.F. Goodrich case.
 (24) Q The asbestos case?
 (25) A Yes.

Page 21

(1) Q Okay. How do you charge for your
 (2) services? How are you charging for your
 (3) services in this matter?
 (4) A You'd have to ask my secretary.
 (5) Q Okay. I'd like to have some idea of
 (6) how much I'm going to get charged. Your
 (7) secretary is the only one who knows that?
 (8) A Yes.
 (9) Q Okay.
 (10) MR. HERBERT: Yes. We didn't ask
 (11) you to bring down a check. He hasn't done
 (12) it enough yet to know.
 (13) BY MR. SCHARON:
 (14) Q We've tried our best to list the cases
 (15) and consultancy matters that you've been
 (16) involved in. Am I correct that none of
 (17) those cases involved claims that there was a
 (18) nondiagnosis or a delayed diagnosis of
 (19) Hodgkin's disease?
 (20) A No.
 (21) Q I'm right about that?
 (22) A You're correct.
 (23) Q That was one of those double negatives.
 (24) I'll try not to do that anymore. I can't
 (25) promise that I'll be successful, but I'll

Page 22

(1) try.
 (2) Okay. Before us you've placed a
 (3) box which contains various medical records
 (4) and depositions. Is that all of the
 (5) material that you've reviewed in this case?
 (6) A Yes.
 (7) Q Have you done any medical literature
 (8) review?
 (9) A No.
 (10) Q Do you plan to?
 (11) A No.
 (12) Q Have you made any notes?
 (13) A Yes.
 (14) Q Where are they?
 (15) A On my computer.
 (16) Q Is your computer here?
 (17) A Yes.
 (18) Q Could you print them out?
 (19) A No.
 (20) Q Why not?
 (21) A I don't want to.
 (22) MR. HERBERT: Off the record for
 (23) a second.
 (24) (At this time a short recess was
 (25) had.)

Page 23

(1) (At this time Plaintiffs Exhibit
 (2) 2 was marked for identification purposes.)
 (3) MR. HERBERT: Is that Exhibit 2?
 (4) MR. SCHARON: We've marked this
 (5) as Plaintiffs Exhibit 2.
 (6) BY MR. SCHARON:
 (7) Q These are the notes that you just
 (8) printed out. Are those all of the notes
 (9) that you've made on the case?
 (10) A These are every, single one of them.
 (11) Q Thank you very much. These notes go
 (12) from one to 37.
 (13) A Yes.
 (14) Q And then the last note is number 101.
 (15) A Yes.
 (16) Q Are there notes 38 through 100?
 (17) A No.
 (18) Q Can you explain the 101 on the last
 (19) note?
 (20) A Yes. The one through 37 notes were
 (21) based on my review of the medical records,
 (22) and then I was going to start with 101 and
 (23) keep notes of my, you know, medical
 (24) perspective on what I had put together up
 (25) there.

Page 24

(1) Q And note 101 says, "Marrow involvement
 (2) almost always associated with elevation in
 (3) alkaline phosphatase (18-Williams)."
 (4) A Yes.
 (5) Q What's that reference to?
 (6) A I had forgotten about that. I think
 (7) that is the third edition of "Williams"
 (8) which is an old edition of a hematology
 (9) textbook, "Williams Hematology."
 (10) Q We'll get into a discussion about your
 (11) review of the records further, but while I'm
 (12) looking at the notes, I see that note number
 (13) 29 says that in October, October 10th of
 (14) 1996, "No nodes and again in post chain
 (15) following fish bone incident two plus."
 (16) Can you tell me what you're
 (17) describing in that note, number 29?
 (18) A Number 29, October 10th, 1996, "No
 (19) nodes," no palpable lymph nodes, and again
 (20) in the posterior chain following the fish
 (21) bone incident, they were described as two
 (22) plus per the medical records that I
 (23) reviewed.
 (24) Q What were described as two plus?
 (25) A The lymph nodes.

Page 25

(1) Q So, they were present following the
 (2) fish bone incident?
 (3) A Yes, in the posterior chain.
 (4) Q Okay. Your statement that there were
 (5) no nodes on 10/10/96, is that based on Dr.
 (6) Allison's deposition testimony or the
 (7) records?
 (8) A I don't remember.
 (9) Q Okay. Is it your impression from
 (10) reviewing Dr. Allison's testimony and the
 (11) records that there were no nodes on 10/10/96?
 (12) A I don't remember.
 (13) Q Okay, thanks. Do you know any of the
 (14) physicians involved in this case, any
 (15) physicians who were involved in the
 (16) treatment of Dorothy Ross?
 (17) A No.
 (18) Q Okay. That would include Drs. Azem,
 (19) Tirgan, Yang, of course Allison and Tyler,
 (20) Lazarus.
 (21) A I've shared patients with Dr. Lazarus,
 (22) but I've never met him personally. I think
 (23) I may have spoken to him on the phone once.
 (24) Q Have any of these physicians been
 (25) involved in any of the other cases that

Page 26

(1) you've been involved in?
 (2) A No.
 (3) Q Have they been involved in any of the
 (4) cases that were filed against you or the
 (5) cases that you consulted on?
 (6) A No.
 (7) Q We've been provided with a report of
 (8) yours dated September 28 of 1999, okay?
 (9) Does that look familiar?
 (10) A Yes.
 (11) Q Other than your notes, is this the only
 (12) writing that you've produced concerning this
 (13) case?
 (14) A Yes.
 (15) Q Is this the one and only draft of that
 (16) report?
 (17) A That's the only one I know of.
 (18) Q Okay. Well, that's what I'm asking.
 (19) Were there any other drafts?
 (20) A It would have been corrected before
 (21) that one was sent out. It was all done as
 (22) part of, you know, going in to correct
 (23) Microsoft Word or whatever, so it's the only
 (24) one that exists.
 (25) Q Do you have a recollection of whether

Page 27

(1) you did do revisions?
 (2) A I don't think I did.
 (3) Q In the course of working on this case,
 (4) did you consult with any physicians to
 (5) discuss it?
 (6) A No.
 (7) Q Okay. Your first opinion stated in
 (8) this report is that the care rendered by
 (9) Drs. Allison and Tyler met acceptable
 (10) standards.
 (11) A Correct.
 (12) Q Can you tell me the basis for your
 (13) opinion? Why do you say that? You'll
 (14) appreciate that the report simply contains
 (15) one statement, so --
 (16) A Yes. I felt, given the number of
 (17) co-morbidities involved in her situation and
 (18) the unusual nature of her presentation, that
 (19) the care rendered met the standard of care
 (20) for a general internist.
 (21) Q Okay. What are the co-morbidities that
 (22) you refer to?
 (23) A Can I borrow my notes?
 (24) Q I'm sorry?
 (25) A Can I borrow my notes?

Page 28

(1) Q Sure. What were you going to do without
 (2) them?
 (3) A I just assumed you would point these
 (4) things out to me in the record. Disabling
 (5) rheumatoid arthritis, electrical wire injury
 (6) with right body weakness, disabling
 (7) headaches, numerous work accidents, history
 (8) of migraine headaches, history of frequent
 (9) falls, history of chronic leg edema.
 (10) (At this time a short recess was
 (11) had.)
 (12) BY MR. SCHARON:
 (13) Q You were listing the co-morbid
 (14) conditions which, when taken together with
 (15) the unusual nature of her presentation, form
 (16) the basis of your opinion.
 (17) A Yes. Her morbid obesity, and I think
 (18) that's a pretty good list.
 (19) Q Okay. I didn't write down, and maybe I
 (20) missed it, but is there anything about upper
 (21) respiratory infections? Did you mention it?
 (22) A I did not mention it, no.
 (23) Q Should it have been mentioned?
 (24) A But we should mention it; recurrent
 (25) upper respiratory tract infections.

Page 29

(1) Q And what was unusual about the nature
 (2) of her presentation?
 (3) A Her initial presentation was anemia.
 (4) Q Okay, and that's dating to when? What
 (5) are you calling the initial presentation?
 (6) A March of '95, I think it is.
 (7) Q She was anemic then?
 (8) A In retrospect, you could see some early
 (9) signs of a developing anemia. I think her
 (10) hemoglobin was 11.9, and her MCV was low.
 (11) Q You said "in retrospect." Does one
 (12) need to look in retrospect in order to
 (13) determine that those numbers are low?
 (14) A Yes, because, you know, a biologic
 (15) system like a human being is not -- you
 (16) know, it doesn't run like a machine. It's
 (17) not going to give you the same readings like
 (18) an odometer on a car or something like that.
 (19) So, every number you look at, you have to
 (20) look at with kind of a grain of salt and
 (21) interpret it.
 (22) Q Allright.
 (23) A In the laboratory they were using, I'm
 (24) pretty certain for females, the lower limit
 (25) for the normal range was 12. So, 11.9, you

Page 30

(1) know, that's an insignificant amount. I
 (2) wouldn't describe that as significant,
 (3) especially in a premenopausal female.
 (4) Q How about her crit?
 (5) A Well, the hematocrit, hemoglobin, it
 (6) doesn't matter which one you're following.
 (7) Q Okay.
 (8) A I would say the same thing.
 (9) Q The 35.5 was not significant with a
 (10) lower limit of 37, you would say?
 (11) A No, not -- in a menstruating female, I
 (12) would take note of it, but it is so common
 (13) --
 (14) Q Okay.
 (15) A --that I wouldn't put anything else
 (16) into it.
 (17) Q She was essentially a new patient to
 (18) Dr. Allison in March of 1995, correct?
 (19) A I don't remember.
 (20) Q Hypothetically, if she was coming to
 (21) Dr. Allison to take over her internal
 (22) medicine care in March of 1995, would it
 (23) have been good practice for Dr. Allison to
 (24) obtain her prior records in order to find
 (25) out how this machine was working, how her

Page 31

(1) body was functioning?
 (2) A Hypothetically, it's good practice, but
 (3) for her to do her own, independent
 (4) evaluation, I would consider that to be
 (5) reasonable as well.
 (6) Q I'm sorry. How would she do that?
 (7) MR. HERBERT: When you say "her,"
 (8) are you talking about the doctor?
 (9) THE WITNESS: I'm assuming, yes,
 (10) the doctor.
 (11) BY MR. SCHARON:
 (12) Q Oh, for the doctor.
 (13) A For the doctor to do her own
 (14) independent evaluation and just assume she's
 (15) starting at square zero and try to figure
 (16) out where she stands would be a reasonable
 (17) approach.
 (18) MR. HERBERT: So that you know,
 (19) the initial doctor was a Dr. Bennie Allison,
 (20) so this is --
 (21) BY MR. SCHARON:
 (22) Q It's not a her.
 (23) A Him.
 (24) Q Okay. Let's follow this hypothetical,
 (25) then. The physician is establishing his own

Page 32

(1) working knowledge of his patient, and as
 (2) part of that, he did this blood work on
 (3) March 29th of 1995.
 (4) A Yes.
 (5) Q All right?
 (6) A Yes.
 (7) Q Given those results, when should a
 (8) physician ought to have followed up and done
 (9) it again?
 (10) A With those levels, and given her
 (11) history of rheumatoid arthritis, her
 (12) rheumatoid arthritis was stable, so a number
 (13) of months is the best answer I could give
 (14) you.
 (15) Q I'll take a range.
 (16) A Six to 12.
 (17) Q Okay. Now, why did you refer to the
 (18) presentation of this patient with anemia as
 (19) being unusual?
 (20) A I didn't.
 (21) Q Oh.
 (22) A I referred to her presentation with
 (23) Hodgkin's disease beginning with anemia as
 (24) being unusual.
 (25) Q Is that what you think happened?

Page 33

(1) A Yes.
 (2) Q Okay. When did she first show any
 (3) signs of Hodgkin's disease?
 (4) A In March of '95.
 (5) Q You think she had Hodgkin's disease
 (6) then?
 (7) A I think she had Stage IV Hodgkin's
 (8) disease in '95.
 (9) Q What's that based on?
 (10) A That's based on the fact that she had
 (11) an anemia and a mild elevation in her
 (12) alkaline phosphatase.
 (13) Q That's the reference to Williams; is
 (14) that it?
 (15) A Yes.
 (16) Q Can you cite me to anything else?
 (17) A Can I cite to you anything else as in
 (18) what circumstance?
 (19) Q As support for the statement that this
 (20) laboratory finding was indicative of bone
 (21) marrow involvement in March of 1995.
 (22) A Because there is no other explanation
 (23) for her bone marrow -- her bone marrow
 (24) abnormality. Her anemia -- let me take that
 (25) back.

Page 34

(1) In subsequent visits, she had iron
 (2) studies performed that were normal. They
 (3) did not demonstrate iron deficiency anemia.
 (4) She ultimately had a bone marrow biopsy
 (5) which confirmed a diagnosis of Hodgkin's
 (6) disease involving the bone marrow, and over
 (7) that period of time, she showed a
 (8) progressive decline in her hemoglobin and
 (9) her MCV and a progressive increase in her
 (10) alkaline phosphatase, all of which are
 (11) consistent with bone marrow involvement from
 (12) her Hodgkin's disease.
 (13) Q Are they consistent with any other of
 (14) her co-morbid conditions?
 (15) A If you just look at the blood counts
 (16) themselves, yes. If you include the bone
 (17) marrow biopsy results, that is the gold
 (18) standard.
 (19) Q That wasn't done until July or the end
 (20) of June of '97.
 (21) A Yes.
 (22) Q Okay.
 (23) A But there is no other explanation for
 (24) her anemia or her microcytosis.
 (25) Q You say that she did not have iron

Page 35

(1) deficiency anemia?
 (2) A No.
 (3) Q Are you aware of whether Drs. Allison
 (4) and/or Tyler diagnosed iron deficiency
 (5) anemia?
 (6) A They thought she had iron deficiency
 (7) anemia.
 (8) Q So, they were wrong?
 (9) A They were wrong, but given the very
 (10) unusual nature of her presentation, I could
 (11) understand it. It is exceedingly unusual
 (12) that someone will have an MCV progress the
 (13) way hers did, to hit levels as low as hers
 (14) did and not have either iron deficiency
 (15) anemia or a congenital condition called
 (16) thalassemia.
 (17) Q Why do you say that she didn't have
 (18) iron deficiency anemia?
 (19) A She had normal iron studies.
 (20) Q Allright.
 (21) A Her serum iron was low, but so was her
 (22) TIBC, and those are more consistent with
 (23) anemia of chronic disease.
 (24) Q Couldn't she have some combination that
 (25) would explain these same results?

Page 36

(1) A Oh, I could give you all kinds of
 (2) for-instance explanations as to why she
 (3) could have these things, but, you know, in
 (4) medicine, if you have one diagnosis that
 (5) fits neatly into the package, that's what
 (6) the patient has.
 (7) Q Okay. Do you know of any other
 (8) Hodgkin's patients who had Stage IV disease
 (9) for two and a half years before diagnosis
 (10) and treatment?
 (11) A I saw one this morning.
 (12) Q Okay.
 (13) A And, in fact, it is more the rule than
 (14) the exception that there's at least a year's
 (15) delay in diagnosis for Hodgkin's disease,
 (16) Q And can you refer me to any literature
 (17) that would support that statement?
 (18) A No. That's just based on my own
 (19) experience and what I have read in the past.
 (20) I can't tell you specifically what it came
 (21) from.
 (22) Q Okay.
 (23) A But it's very common.
 (24) Q How would I find such literature? It
 (25) exists obviously, you said, based on your

Page 37

(1) reading.
 (2) A I would start with the general
 (3) textbooks and read on.
 (4) Q Such as?
 (5) A Well, Williams.
 (6) Q Williams, all right.
 (7) A You could consider Wintrobe's
 (8) "Hematology," DeVita's "Textbook on
 (9) Oncology" or "Cancer Medicine," I think the
 (10) title is, and others.
 (11) Q Okay. Were the swollen lymph nodes
 (12) that were documented by Dr. Allison in
 (13) August of 1996 Hodgkin's disease?
 (14) A Yes.
 (15) Q Do people in their forties usually have
 (16) swollen lymph nodes in their neck, not
 (17) submandibularly, when they get sinus
 (18) infections?
 (19) A It can happen, yes.
 (20) Q Is it typical?
 (21) A It depends on how good you are at
 (22) palpating lymph nodes. I find them not that
 (23) uncommonly, but, you know, that's my
 (24) expertise. I feel lymph nodes all day long.
 (25) I can very easily differentiate a normal

Page 38

(1) from an abnormal lymph node, but that's
 (2) based on experience.
 (3) Q Would you expect an internist, a
 (4) general internist to have such expertise?
 (5) A It depends on their training and their
 (6) experience.
 (7) Q From your review of the depositions of
 (8) Allison and Tyler, do you think that they
 (9) had such expertise and training?
 (10) A They felt something.
 (11) Q Okay. When a person in their forties
 (12) has swollen lymph nodes from an upper
 (13) respiratory infection, how long do you
 (14) expect the lymph nodes to remain swollen if
 (15) the patient is being treated?
 (16) A It depends on what the -- presuming
 (17) that it's due to an infection, it depends on
 (18) what the infection is due to. If it's due
 (19) to, like, mononucleosis, that's something
 (20) that could go on for weeks.
 (21) Q How about sinus infections?
 (22) A Sinus infections?
 (23) MR. HERBERT: You're assuming
 (24) successful treatment?
 (25) BY MR. SCHARON:

Page 39

(1) Q I'm assuming treatment.
 (2) A I don't know that I can honestly answer
 (3) that question because I put as much into the
 (4) texture of the lymph nodes as I do the size
 (5) of the lymph nodes and the location of the
 (6) lymph nodes, and I'm not sure I could answer
 (7) that question.
 (8) Q Okay.
 (9) A I'm 40, and I can feel my lymph nodes.
 (10) Q And you don't have an infection?
 (11) A Uh-uh, but I do have something that she
 (12) didn't have, and that is that I have a very
 (13) skinny neck. Because of the fact that she
 (14) was over 100 pounds overweight, it makes it
 (15) much more difficult to discriminate what's
 (16) in her neck.
 (17) Q Are lymph nodes, abnormal lymph nodes
 (18) from Hodgkin's disease always nontender?
 (19) A That's not true. That's the rule, but
 (20) that's not -- there's nothing that's always
 (21) or never in medicine.
 (22) Q Allright.
 (23) A And another difficult thing about
 (24) Hodgkin's disease is, you can have
 (25) involvement of Hodgkin's disease in a lymph

Page 40

(1) node without it causing lymph node
 (2) enlargement.
 (3) Q Have you listed all of the reasons why
 (4) you think that she had Stage IV Hodgkin's
 (5) disease in March of '95?
 (6) A Yes.
 (7) Q When do you think her Hodgkin's disease
 (8) began?
 (9) A I have no idea.
 (10) Q Okay.
 (11) A Hodgkin's disease is a very unusual
 (12) type of cancer. You cannot think of it the
 (13) way you would think of breast cancer where
 (14) you go from this palpable mass to something
 (15) that keeps growing, and as it gets bigger,
 (16) it has a tendency to spread. While
 (17) Hodgkin's disease tends to spread in a very
 (18) typical pattern, it can wax and wane for
 (19) years.
 (20) Q What is the typical pattern that
 (21) Hodgkin's disease spreads in?
 (22) A Well, typically, Hodgkin's disease
 (23) starts in the neck, and, so, the most common
 (24) presentation is for a patient to come in
 (25) with lymph nodes in their neck first.

Page 41

(1) That's why the most common presentation is
 (2) for someone to feel lymph nodes in their
 (3) neck and have the biopsy, Stage I disease.
 (4) Then, it will spread very
 (5) characteristically to the next group of
 (6) lymph nodes; so, for example, the
 (7) mediastinum where the lymph nodes are in the
 (8) middle of the chest, and then it will march
 (9) on.
 (10) Q Or to the armpits?
 (11) A Or to the armpits, and then it will
 (12) march into the abdomen.
 (13) Q And that is bone marrow involvement,
 (14) the last stage?
 (15) A Bone marrow involvement, Stage IV
 (16) disease implies that the Hodgkin's disease
 (17) has spread beyond the lymph-node-bearing
 (18) areas themselves. So, that could be bone
 (19) marrow involvement, lung involvement, liver
 (20) involvement.
 (21) Q Spleen?
 (22) A Spleen. Well, spleen, no.
 (23) Q No?
 (24) A Spleen is considered like a big lymph
 (25) node when you're doing staging for Hodgkin's

Page 42

(1) disease.
 (2) Q Okay. Obviously you don't think that
 (3) her Hodgkin's disease followed this typical
 (4) progression.
 (5) A No.
 (6) Q What's the progression that you
 (7) hypothesize for her?
 (8) A Well, I know it was in the bone marrow
 (9) in March of '95, and because there were no
 (10) CTs, and there are no other radiographic
 (11) studies, there's no way of telling what was
 (12) going on, especially in her abdomen.
 (13) Now, she had mixed cellularity
 (14) Hodgkin's disease, and that type of
 (15) Hodgkin's disease is much more common below
 (16) the diaphragm, even at the time of
 (17) presentation. So, it would not be unusual
 (18) at all for her to come in with
 (19) intra-abdominal disease, especially because,
 (20) if my memory serves, she had palpable lymph
 (21) nodes on both sides of her neck at some
 (22) point, and that increases the chances that
 (23) you have intra-abdominal involvement from
 (24) Hodgkin's disease.
 (25) Q I'm not sure I understand what you're

Page 43

(1) saying about how you think her Hodgkin's
 (2) disease spread.
 (3) A I'm telling you that it was a very
 (4) unusual pattern. I know it was in the lymph
 (5) nodes -- it was in the bone marrow before
 (6) you could palpate lymph nodes, but what I
 (7) cannot tell you is whether her Hodgkin's
 (8) disease began in the lymph-node-bearing area
 (9) and spread to the bone marrow, but in a way
 (10) where it evaded the lymph-node-bearing
 (11) regions that are palpable; so, for example,
 (12) the mediastinum or the intra-abdominal lymph
 (13) node bearing regions. It could have
 (14) potentially started there and spread to the
 (15) bone marrow, or it could have started in the
 (16) bone marrow and spread from there. There's
 (17) no way of telling.
 (18) Q Does Hodgkin's disease ever begin in
 (19) the bone marrow?
 (20) A I assume it can. There's no way of
 (21) being able to tell.
 (22) Q Has any expert in the field ever
 (23) written that it can?
 (24) A I don't see how you can exclude it as a
 (25) possibility. If you have patients with

Page 44

(1) Stage IV Hodgkin's disease that's involving
 (2) the bone marrow, I don't know how you can
 (3) say that it didn't start in the marrow,
 (4) other than to say things in retrospect.
 (5) Q I appreciate what you're saying. I'm
 (6) asking whether there's anybody else who has
 (7) written in the field of your expertise who
 (8) agrees with that point of view.
 (9) A I have no idea.
 (10) Q Allright.
 (11) A I'm not even saying that it's my point
 (12) of view. My point of view is that I can't
 (13) tell you where it started.
 (14) Q Was Dorothy Ross anemic in May of '96,
 (15) the next time blood work was done?
 (16) A I'm not sure. I don't have the
 (17) laboratories in front of me.
 (18) Q In May of '96, May 21st, 1996, the red
 (19) blood count was 4.05, range 4.2 to 5.4;
 (20) hemoglobin was 9.6, range 12 to 16;
 (21) hematocrit was 30.1, range 37 to 47.
 (22) A Yes, she was anemic.
 (23) Q Okay. Did the standard of care which
 (24) applied to these internists, Drs. Allison
 (25) and Tyler, or at that point it was Dr.

Page 45

(1) Allison, did it call for any investigation?
 (2) A What was the MCV?
 (3) Q Well --
 (4) A I'm assuming --
 (5) Q That's okay. I'll find it. May 22,
 (6) 1996, you wanted the MCV?
 (7) A Yes.
 (8) Q 74.3, with a range of 81 to 99 for
 (9) females.
 (10) A Okay. My answer is yes.
 (11) Q And what should have been done?
 (12) A It depends. "Investigation" may be too
 (13) strong a word. I would say that it requires
 (14) explanation.
 (15) Q Okay.
 (16) A So, the differential diagnoses for a
 (17) hypochromic-microcytic anemia would be iron
 (18) deficiency anemia, anemia of chronic
 (19) disease, thalassemia, sideroblastic anemia
 (20) or lead poisoning.
 (21) Q What's required to work one's way
 (22) through that differential?
 (23) A If he thought that it was due to active
 (24) rheumatoid arthritis, nothing. If he
 (25) thought that this was iron deficiency

Page 46

(1) anemia, then it would be to figure out where
 (2) she was bleeding from. Because she's a
 (3) menstruating female, it would have been
 (4) reasonable to assume that it was due to
 (5) menstrual blood losses and/or possibly to
 (6) evaluate her gastrointestinal tract to see
 (7) if she was bleeding.
 (8) If he thought it was due to
 (9) sideroblastic anemia, it would have required
 (10) a bone marrow.
 (11) If he thought that this was due to
 (12) thalassemia, that's a congenital disorder,
 (13) and he would have had that possibly by
 (14) history or had consistent prior blood work.
 (15) Q If he thought it was due to iron
 (16) deficiency anemia, would he get iron
 (17) studies?
 (18) A Yes.
 (19) Q And did he do that in June of 1996?
 (20) A Yes.
 (21) Q And if he thought, after getting those
 (22) iron studies, that this was iron deficiency
 (23) anemia, he was wrong?
 (24) A Yes.
 (25) Q After these iron studies came back, he

Page 47

(1) then got stool cards that were negative,
 (2) okay.
 (3) A Is that a question?
 (4) Q That was in July. I'm telling you.
 (5) Hopefully your notes will reflect the same
 (6) thing.
 (7) A Yes.
 (8) Q What should Dr. Allison have done then
 (9) to continue down this path of investigating
 (10) or working up the anemia?
 (11) A Presuming he still felt that this was
 (12) iron deficiency anemia, given the fact that
 (13) this was a menstruating female, it would
 (14) have been reasonable to either evaluate the
 (15) gastrointestinal tract at that time or to
 (16) give her iron replacement therapy and to see
 (17) how she would do with that. A therapeutic
 (18) trial of iron would be reasonable.
 (19) Q And do you give the iron to the patient
 (20) before you do the iron studies? Should that
 (21) be done?
 (22) A You can still make sense of them if you
 (23) do that.
 (24) Q Won't giving the iron mask some of the
 (25) results?

Page 48

(1) A A therapeutic trial of iron is a
 (2) reasonable way -- I mean, it's an
 (3) old-fashioned way of making a diagnosis of
 (4) iron deficiency anemia, so --
 (5) Q So, you give the iron and then what;
 (6) check again to see whether or not the levels
 (7) have come back?
 (8) A Yes, yes, and you should still be able
 (9) to make sense out of the numbers.
 (10) Q Okay. If you do that the old-fashioned
 (11) way of giving a trial of iron, how long do
 (12) you wait to check the numbers again to see
 (13) whether the levels have come back up?
 (14) A At least three to five weeks.
 (15) Q And, then, you should get follow-up
 (16) blood work?
 (17) A Yes.
 (18) Q If these doctors did not get follow-up
 (19) blood work for this lady from the time the
 (20) iron studies were done in June of 1996 until
 (21) January of '97, that wouldn't be acceptable;
 (22) would it?
 (23) MR. HERBERT: Note my objection
 (24) to the hypothetical to the extent the facts
 (25) would be excluded, but you can answer.

Page 49

(1) THE WITNESS: I would go back to
 (2) my original statement and that is: it
 (3) depends on what their explanation is of the
 (4) anemia.
 (5) BY MR. SCHARON:
 (6) Q Okay. If Dr. Allison thought in June
 (7) of 1996, after the iron studies came back,
 (8) that she had iron deficiency anemia, okay,
 (9) and he got stool cards in July of 1996 that
 (10) were negative, shouldn't he have gotten
 (11) follow-up blood work long before January of
 (12) 1997?
 (13) MR. HERBERT: Just note my
 (14) objection to the extent that it would
 (15) exclude the facts, but you can answer.
 (16) THE WITNESS: Ideally, yes; not
 (17) necessarily.
 (18) BY MR. SCHARON:
 (19) Q Well, what in this record excuses it?
 (20) I mean, what explains why it wouldn't have
 (21) been appropriate in this case?
 (22) A The supposition -- you're asking me to
 (23) make suppositions as to what he was thinking
 (24) at the time, and that's very difficult for
 (25) me to do.

Page 50

(1) Q No. We know from the records and from
 (2) his deposition that he thought she had iron
 (3) deficiency anemia, right?
 (4) MR. HERBERT: Well, do you want
 (5) him to assume that?
 (6) BY MR. SCHARON:
 (7) Q I'm asking you: is that true?
 (8) A I don't remember. Based on the record,
 (9) if that's what you're telling me, I'll take
 (10) it at that.
 (11) Q But you have no recollection of whether
 (12) Dr. Allison --
 (13) A I know he thought she had iron
 (14) deficiency anemia, but I can't tell you when
 (15) he thought that she had iron deficiency
 (16) anemia.
 (17) Q If he didn't think she had iron
 (18) deficiency anemia, there wouldn't have been
 (19) any reason for him to prescribe iron in June
 (20) of '96; would there have been?
 (21) A No.
 (22) Q Okay. So, assuming that Dr. Allison
 (23) thought that she had iron deficiency anemia
 (24) in June of 1996, and he did his iron studies
 (25) and he got stool cards, shouldn't he have

Page 51

(1) gotten follow-up blood work on this lady
 (2) before January of 1997?
 (3) MR. HERBERT: Just note my
 (4) objection. When you start the question
 (5) "assuming," are you assuming all of those
 (6) things were able to be done and available,
 (7) and the patient was compliant?
 (8) THE WITNESS: And I am assuming
 (9) that he assumed that her iron deficiency
 (10) anemia was due to menstrual blood losses,
 (11) and in that situation, if she had no further
 (12) symptomatology, it's understandable. You're
 (13) expecting me to jump through these hoops,
 (14) and I just feel that I can't. I don't know
 (15) exactly what he was thinking at the time. I
 (16) don't -- I mean, I could -- I mean, if
 (17) you're asking me what I would do, I'm a
 (18) hematologist, and I don't know that I could
 (19) answer the question of what would be the
 (20) standard for a general internist.
 (21) BY MR. SCHARON:
 (22) Q Okay.
 (23) A I mean, I'm a hematologist.
 (24) Q Fair enough.
 (25) A A hematologist will get blood counts at

Page 52

(1) the drop of a hat. Maybe I'll get some from
 (2) you before the day's over.
 (3) Q Unlikely, unlikely. Mrs. Ross was
 (4) diagnosed several times with sinus
 (5) infections; is that right?
 (6) A Yes.
 (7) Q In February, March, May of 1996, three
 (8) times that I particularly made note of.
 (9) Would you characterize her sinus infections
 (10) as being chronic or recurrent or chronic/
 (11) recurrent?
 (12) A I don't know.
 (13) Q Do you think that her sinus infections
 (14) adequately explain her lymphadenopathy?
 (15) MR. HERBERT: Note my objection.
 (16) Are you asking this question in retrospect?
 (17) THE WITNESS: Yes, in what sense?
 (18) BY MR. SCHARON:
 (19) Q Sure.
 (20) A In retrospect?
 (21) Q Yes.
 (22) A Okay. If you're asking me in
 (23) retrospect, I think those lymph nodes were
 (24) Hodgkin's disease. If you are asking me:
 (25) could she have had lymphadenopathy based on

Page 53

(1) another condition other than Hodgkin's
 (2) disease at the same time she has Hodgkin's
 (3) disease, well, based on the supposition for
 (4) bone marrow, I would say yes.
 (5) Q Allright.
 (6) A By the same token, lymphadenopathy,
 (7) enlarged lymph nodes in the neck in patients
 (8) with Hodgkin's disease can seem to respond
 (9) to antibiotic therapy.
 (10) Q Did hers?
 (11) A I don't know.
 (12) Q Would it be acceptable for an internist
 (13) to conclude that lymphadenopathy in the neck
 (14) of a patient with sinus infections need not
 (15) be investigated because the nodes were
 (16) tender?
 (17) A Not necessarily.
 (18) Q Okay. Could you elaborate on that
 (19) answer?
 (20) A It is more often than not that tender
 (21) lymphadenopathy will imply reactive lymph
 (22) nodes, a reaction to some type of
 (23) inflammation going on, but, again, there are
 (24) no absolutes in medicine.
 (25) Q Have you ever seen any other Hodgkin's

Page 54

(1) patient present in the way you are
 (2) hypothesizing that Dorothy Ross presented?
 (3) A Yes.
 (4) Q How many times?
 (5) A Just this morning.
 (6) Q Is that the only other time?
 (7) A It's the only one I recall where the
 (8) primary manifestation was with anemia, and
 (9) Hodgkin's disease, if you don't have a lymph
 (10) node to biopsy, is exceedingly difficult to
 (11) diagnose by bone marrow biopsy, by needle
 (12) biopsy of, like, a lung or whatever. So --
 (13) Q How many Hodgkin's patients have you
 (14) treated in your career?
 (15) A I would say at least 40 or 50.
 (16) Q Other than this person that you saw
 (17) this morning -- forget that for a moment.
 (18) Have you read about any other
 (19) patients presenting as you have described
 (20) Dorothy Ross, with the presenting symptom of
 (21) anemia?
 (22) A What's the question?
 (23) Q I'm trying to get a feeling for how
 (24) often this unusual presentation of anemia in
 (25) a patient with Hodgkin's disease occurs.

Page 55

(1) You said that you saw a patient this
 (2) morning, but you couldn't think of any
 (3) others in the 40 or 50 Hodgkin's patients
 (4) that you've seen.
 (5) A It's very unusual for a patient with
 (6) Hodgkin's disease to present with anemia,
 (7) and, so, if a patient with Hodgkin's disease
 (8) presents with anemia, that pretty much
 (9) implies that there's bone marrow
 (10) involvement.
 (11) Q But this is a very unusual presentation?
 (12) A Yes.
 (13) Q Is this presentation described anywhere
 (14) in the medical literature?
 (15) A Oh, I'm sure it is. Hodgkin's disease
 (16) has a reputation of being one of the more
 (17) difficult diagnoses to find sometimes. I
 (18) mean, unusual presentations for Hodgkin's
 (19) disease are known to occur. It's one of the
 (20) things you think about in a patient who
 (21) presents, for example, with fever of unknown
 (22) origin. It's one of the diagnoses you think
 (23) of when you have, you know, somebody who has
 (24) isolated lymphadenopathy. In somebody who
 (25) has an unexplained anemia, I always think

Page 56

(1) about the lymphoproliferative disorders:
 (2) nonHodgkin's lymphoma, Hodgkin's disease.
 (3) It's part of the differential diagnoses.
 (4) Q After your review of these records,
 (5) looking back on it, when do you think that
 (6) Dorothy Ross should have been diagnosed with
 (7) Hodgkin's?
 (8) A Should have been?
 (9) Q Yes.
 (10) MR. HERBERT: Just note my
 (11) objection. You can answer.
 (12) THE WITNESS: I doubt that she
 (13) could have been diagnosed as early as March
 (14) of '95. I don't think that that's a
 (15) possibility. The best way I could answer
 (16) that question is: the earliest she could
 (17) have been diagnosed was probably in August
 (18) of '96.
 (19) BY MR. SCHARON:
 (20) Q When she would have had the documented
 (21) lymphadenopathy.
 (22) A But "should have been," I could not
 (23) answer.
 (24) Q Do you physicians know how long it
 (25) usually takes Hodgkin's to progress from

Page 57

(1) stage to stage?

(2) A That varies tremendously from patient
(3) to patient.

(4) Q Is there thought to be some general
(5) time frame or not?

(6) A Not that I'm aware of. I'm sure that
(7) maybe somebody has written that someplace,
(8) but, again, I think Hodgkin's disease, more
(9) so than tumors arising from solid organs
(10) like breast, prostate, whatever, has a much
(11) more uncertain history. We know much less
(12) about how it starts. We're not even sure
(13) what the actual malignant cell is for
(14) certain in Hodgkin's disease. I mean, most
(15) of what you're seeing is an inflammatory
(16) response.

(17) Q Just from looking at the general
(18) literature, what's the business about the
(19) Reed-Sternberg cell?

(20) A The Reed-Sternberg cell is
(21) characteristic for Hodgkin's disease, and
(22) most people will accept the fact that that
(23) probably is the malignant cell associated
(24) with Hodgkin's, but what's unusual about
(25) Hodgkin's disease is that it's the only

Page 58

(1) cancer I can think of where your diagnosis
(2) is based on this entire constellation of
(3) inflammation, the reaction to whatever the
(4) cancerous process is, as opposed to finding
(5) this continuous, monotonous colony of cells
(6) that you see with most types of malignancy.
(7) Hodgkin's disease defies the rules.

(8) Q Is it true that, in order to make the
(9) diagnosis of Hodgkin's, you have to find the
(10) Reed-Sternberg cell in the biopsy?

(11) A It's ideal. I mean, a pathologist
(12) would be much more uncomfortable with the
(13) diagnosis of Hodgkin's disease without
(14) seeing Reed-Sternberg cells. It can be
(15) done, but they are very uncomfortable in
(16) doing it.

(17) Q Do you have an opinion about when she
(18) first had a mediastinal mass?

(19) A She could have had that as early as
(20) March of '95. A chest X-ray was obtained at
(21) that time, and it was normal.

(22) Q The chest X-ray was done in May of '96,
(23) and it was normal?

(24) A A chest X-ray was done in July of '97
(25) and showed, really, not that significant of

Page 59

(1) an abnormality based on the way the
(2) radiologist interpreted that X-ray. So,
(3) again, I told you that Hodgkin's disease is
(4) notorious for involving lymph nodes without
(5) causing their enlargement. So, she could
(6) have had mediastinal lymph node involvement
(7) even prior to '95.

(8) Q Ordinarily, would a patient with Stage
(9) IV Hodgkin's disease have symptoms?

(10) A Not necessarily.

(11) Q Usually?

(12) A If you're talking about the symptoms,
(13) the B symptoms: fever, night sweats, weight
(14) loss, not necessarily.

(15) Q Is it usual, though, more often than
(16) not?

(17) A I can't answer, no. I don't know the
(18) answer to that question.

(19) Q The volume of disease, the volume of
(20) abnormal tissue in Hodgkin's disease, does
(21) that increase over time?

(22) A Well, we've already mentioned the fact
(23) that Hodgkin's disease waxes and wanes over
(24) time. So, again, compared to, like, breast
(25) cancer or prostate cancer, you cannot think

Page 60

(1) of it as a process that starts with one cell
(2) and just keeps doubling and doubling and
(3) doubling. It waxes and wanes, waxes and
(4) wanes. It could stay the same for an
(5) extended period of time. It could start
(6) growing in a very short period of time.
(7) There is no specific answer to that
(8) question.

(9) Q The stage at which the disease is
(10) diagnosed, is it a prognostic factor for
(11) whether it can be cured?

(12) A The stage is the only prognostic--
(13) well, the stage and the type of Hodgkin's
(14) disease you're dealing with are the only
(15) prognostic features I know of, in addition
(16) to the B symptoms.

(17) Q Is it generally true that the earlier
(18) Hodgkin's disease is diagnosed and treated,
(19) the more successful the outcome is?

(20) A If you mean "earlier" as the earlier
(21) stage --

(22) Q Yes.

(23) A Yes, Stage I Hodgkin's disease has a
(24) better prognosis than Stage IV Hodgkin's
(25) disease.

Page 61

(1) Q Or better than II, better than III as
 (2) well?
 (3) A Yes.
 (4) Q Within the stages, is there some
 (5) decrease in prognosis or chance of favorable
 (6) outcome depending on whether there are B
 (7) symptoms present?
 (8) A B symptoms connote a worse prognosis.
 (9) Q Within the stage?
 (10) A Yes.
 (11) Q As compared with --
 (12) A Yes.
 (13) Q --the A patients having no symptoms?
 (14) A Right.
 (15) Q What ongoing laboratory studies support
 (16) your position that she had Stage IV disease
 (17) from March of '95 on?
 (18) A The progressive decline in her
 (19) hemoglobin, hematocrit, MCV, and the
 (20) progressive increase in her alkaline
 (21) phosphatase.
 (22) Q Allright.
 (23) A That's it.
 (24) MR. HERBERT: And when you said
 (25) "labs," you didn't mean to include the bone

Page 63

(1) Q -- in order to have the disease, but
 (2) what are the symptoms and signs that can
 (3) occur?
 (4) A Enlargement of the lymph nodes.
 (5) Q Okay.
 (6) A B symptoms, those are the classic.
 (7) Q Fever of unknown origin, night sweats?
 (8) A Unexplained weight loss greater than
 (9) ten percent of your body weight, and
 (10) drenching night sweats lasting for over two
 (11) weeks without explanation. Other ones
 (12) attributed to Hodgkin's disease but which do
 (13) not connote a worse prognosis would be
 (14) generalized itching and pain in the lymph
 (15) nodes when ingesting alcohol.
 (16) Q Are you aware of what stage Hodgkin's
 (17) is most often diagnosed at?
 (18) A It would be Stage I, Stage II, that
 (19) constellation.
 (20) Q What percentage of patients?
 (21) A I'm not sure I know a statistic off the
 (22) top of my head.
 (23) Q Okay. Are you aware of the statistics
 (24) for the prognosis for a cure in a patient
 (25) diagnosed at Stage II?

Page 62

(1) marrow?
 (2) MR. SCHARON: Correct.
 (3) MR. HERBERT: All right.
 (4) THE WITNESS: Laboratory-wise,
 (5) that's it.
 (6) BY MR. SCHARON:
 (7) Q Allright.
 (8) A Then, that was confirmed by the bone
 (9) marrow diagnosis which is the gold standard
 (10) for evaluation for anemias.
 (11) Q There's no doubt that she had bone
 (12) marrow involvement when the biopsy was done,
 (13) right?
 (14) A I have not reviewed the slides myself,
 (15) nor my pathologist, but looking at her
 (16) records, that appears to be the case.
 (17) Q Are there classic symptoms and signs of
 (18) Hodgkin's disease?
 (19) A Classic in that, if a patient has them,
 (20) I would say they have Hodgkin's disease, but
 (21) not classic in that they occur in every
 (22) patient.
 (23) Q Understood. You don't have to have
 (24) them all --
 (25) A Right.

Page 64

(1) A At Stage II, it's probably 80, 90
 (2) percent.
 (3) Q How about Stage I?
 (4) A About 90 plus percent, easily.
 (5) Q And moving down to III and IV?
 (6) A III and IV are probably each around 65
 (7) percent. III and IV are fairly similar
 (8) prognostic-wise.
 (9) Q Okay. What are the treatment options
 (10) for Hodgkin's disease diagnosed at Stage II?
 (11) A Where? Where is the disease?
 (12) Q Above the diaphragm.
 (13) A And how is it staged; a staging
 (14) laparotomy, or was it staged clinically
 (15) using CAT scans, X-rays?
 (16) Q CAT scans and biopsy, lymph node
 (17) biopsies.
 (18) A Okay. If you're talking about clinical
 (19) staging for Stage II or I Hodgkin's disease,
 (20) if you're not going to include a staging
 (21) laparotomy, that patient has to get
 (22) chemotherapy. You may consider involved
 (23) field radiation therapy as well.
 (24) Q Is the kind of chemotherapy that she
 (25) got, the ABVD chemotherapy, the type of

Page 65

(1) chemotherapy that --
 (2) A That is one of the reasonable regimens.
 (3) Q Do you know what percentage of
 (4) patients who receive ABVD chemotherapy for
 (5) Stage II Hodgkin's achieve remission?
 (6) A It's the majority, but I don't remember
 (7) the exact percentage.
 (8) Q Would you know how many patients who
 (9) receive that regimen at Stage IV achieve
 (10) remission?
 (11) A I don't know offhand.
 (12) Q Less than those that receive that
 (13) regimen for Stage II?
 (14) A Less than Stage II, yes.
 (15) Q Can you tell me what the typical
 (16) follow-up medical surveillance or follow-up
 (17) regimen is for a patient who has achieved
 (18) remission after ABVD chemotherapy diagnosed
 (19) at Stage II?
 (20) A For Stage II, and where is the disease
 (21) again; in the neck and the mediastinum?
 (22) Q Yes.
 (23) A That patient needs to be seen every
 (24) three months for routine lab work,
 (25) obviously history, physical examination,

Page 66

(1) routine lab work, radiologic follow-up.
 (2) Frequency of CT scans, I would not define
 (3) that frequency without knowing more
 (4) specifics about the patient.
 (5) Q It would not include bone marrow biopsy?
 (6) A Not on a routine basis.
 (7) Q And for how long would the three-month
 (8) follow-up persist?
 (9) A My routine would be every three months
 (10) for two years, every four months for the
 (11) third year, every six months for the fourth
 (12) and fifth years, and then I'd like to follow
 (13) them once a year thereafter. That's a
 (14) routine. There are no standard guidelines.
 (15) Q Do you know what percentage of
 (16) Hodgkin's patients diagnosed at Stage II who
 (17) have ABVD chemotherapy are cured?
 (18) A Well, I know that the overall cure rate
 (19) is somewhere in the 80 to 90 percent range,
 (20) but that also includes patients who have had
 (21) relapses and have been salvaged, and I don't
 (22) know that I can split that out for you.
 (23) Q Can Hodgkin's disease be cured?
 (24) A Oh, yes, definitely.
 (25) Q Okay.

Page 67

(1) A Even Stage IV Hodgkin's disease can be
 (2) cured.
 (3) Q "Cured" means it's gone, and it won't
 (4) come back?
 (5) A Yes.
 (6) Q You stated in your report that her
 (7) ultimate need for high dose chemo and bone
 (8) marrow transplant was due to the inherent
 (9) resistance of her disease and was
 (10) independent of the delay in diagnosis.
 (11) A Yes.
 (12) Q Can you explain that for me, please?
 (13) A Once you decide which therapy you're
 (14) going to give a patient, their ultimate
 (15) outcome is purely based on the inherent
 (16) sensitivity of their malignancy to the
 (17) therapy that you're using. So, for example,
 (18) if she would have had a sustained clinical
 (19) response to her ABVD chemotherapy, there
 (20) would have been no reason to consider a bone
 (21) marrow transplant.
 (22) Q All right.
 (23) A Because there was evidence of
 (24) persistence and/or progression of disease,
 (25) despite the use of ABVD, that meant that she

Page 68

(1) required consideration of salvage
 (2) chemotherapy, and it is very reasonable to
 (3) consider in a fairly young patient as this
 (4) to utilize high dose chemotherapy with stem
 (5) cell rescue for Hodgkin's disease.
 (6) Q Do you know, are there patients
 (7) diagnosed at Stage II who have high dose
 (8) chemo and stem cell rescue?
 (9) A First line, only as part of an
 (10) experimental protocol. That would not be
 (11) considered standard therapy.
 (12) Q Are there patients diagnosed at Stage
 (13) II who are refractory to chemotherapy, ABVD
 (14) therapy --
 (15) A Yes, very definitely.
 (16) Q --who have to move on to bone marrow
 (17) transplant?
 (18) A Yes.
 (19) Q How often does it happen
 (20) percentagewise, if you know?
 (21) A I don't know offhand. I would say
 (22) maybe a third of the patients.
 (23) Q A third?
 (24) A Uh-huh.
 (25) Q Can you cite me to any studies that

Page 69

(1) would back that up?
 (2) A Like I said, it's just a general sense.
 (3) Q So, no, you couldn't cite me to any?
 (4) A No.
 (5) Q We discussed quite awhile ago your
 (6) involvement in bone marrow treatment. Do
 (7) you do bone marrow transplants?
 (8) A I do not.
 (9) Q You refer your patients that require
 (10) that to somebody else then?
 (11) A Yes.
 (12) Q Have you ever had to refer a patient
 (13) diagnosed at Stage II Hodgkin's disease for
 (14) bone marrow transplant?
 (15) A Yes.
 (16) Q How often have you done that?
 (17) A Twice.
 (18) Q Okay. You understand this is my only
 (19) chance to ask you questions about what
 (20) you're going to testify to at trial.
 (21) A I'm comfortable.
 (22) Q Have we covered all of your opinions in
 (23) this case, all of the ones that you expect
 (24) to express at trial?
 (25) MR. HERBERT: Just note my

Page 70

(1) objection to the extent that, obviously, he
 (2) can't anticipate every question I might ask
 (3) him, but you have touched --
 (4) MR. SCHARON: Well, you --
 (5) MR. HERBERT: Let me finish. All
 (6) I was going to say, John, is that, you know,
 (7) if you ask him the question from the
 (8) standpoint of has he touched on, you know,
 (9) the issues that he intends to testify to at
 (10) the time of trial, I think the answer to
 (11) that is yes. I don't anticipate pulling any
 (12) rabbits out of a hat. He's going to talk
 (13) about the stuff he talked about today, but
 (14) he can answer.
 (15) BY MR. SCHARON:
 (16) Q I'm just trying to find out if there
 (17) are any other areas that we haven't talked
 (18) about that you're planning to testify to.
 (19) A I answer the questions. I don't ask
 (20) them.
 (21) MR. HERBERT: Are there any other
 (22) issues in terms of things that you haven't
 (23) told him already about your review of the
 (24) records, about your feeling that, you know,
 (25) she had the bone marrow involvement back in

Page 71

(1) March of 1995, anything like that, any other
 (2) issues?
 (3) THE WITNESS: I can think of no
 (4) substantive issue.
 (5) MR. HERBERT: For what it's
 (6) worth, I can't either.
 (7) BY MR. SCHARON:
 (8) Q In terms of the unusual presentation
 (9) that you've described, the fact that it
 (10) presented first as anemia, is that the only
 (11) unusual feature?
 (12) A Well, it depends on how you describe
 (13) that. It's not that common for a woman
 (14) who's 48 years old to be diagnosed with
 (15) Hodgkin's disease. I mean, with mixed
 (16) cellularity Hodgkin's disease, there's not
 (17) the peak presentations that you see with the
 (18) other varieties, but you classically think
 (19) of Hodgkin's disease in younger patients and
 (20) older patients than where she is. She kind
 (21) of falls between the peaks of presentation.
 (22) So, that would be another unusual feature to
 (23) her presentation.
 (24) I am intrigued by how small the
 (25) lymph nodes were in her neck, given how much

Page 72

(1) Hodgkin's disease she had elsewhere. Most
 (2) of the patients that I have seen diagnosed
 (3) with Hodgkin's disease even at an early
 (4) stage, Stage I and Stage II, have much more
 (5) obvious involvement in their necks. It is
 (6) not as subtle as hers was.
 (7) Q Tell me what information you're talking
 (8) about. What was the size that you're
 (9) referring to?
 (10) A Well, the -- if I remember correctly,
 (11) the general surgeon that removed the lymph
 (12) nodes, and, now, I am assuming that he went
 (13) to the biggest lymph node that he could
 (14) find; he described it -- I think he
 (15) described it as, like, two, two and a half
 (16) centimeters, something like that. The
 (17) pathologist measured it out at three
 (18) centimeters diameter. You know, three
 (19) centimeters is my two fingers together. It
 (20) is not unusual for patients to, you know,
 (21) have a mass like this (motioning).
 (22) MR. HERBERT: For the record,
 (23) like twice the size?
 (24) THE WITNESS: To have a four
 (25) centimeter, six centimeter mass in their

Page 73

(1) neck, where it's not where you're trying to
 (2) discriminate in your own mind as to whether
 (3) this is normal or pathologically enlarged.
 (4) BY MR. SCHARON:
 (5) Q Okay.
 (6) A Especially given the fact that there
 (7) was the fact that, you know, I think that
 (8) her disease first became clinically
 (9) manifested in '95 and wasn't diagnosed until
 (10) '97, and that she had significant bulk
 (11) disease within her chest, I was kind of
 (12) impressed as to how little she had in her
 (13) neck.
 (14) Q Okay. I just want to be complete and
 (15) make sure I understand all of the unusual
 (16) features of the presentation. Is there
 (17) anything else that you can think of, Doctor?
 (18) A A prior history of rheumatoid arthritis
 (19) actually leans you more towards the idea of
 (20) nonHodgkin's lymphoma.
 (21) Q Was there a definitive diagnosis of
 (22) rheumatoid arthritis?
 (23) A I did not look at her chart from that
 (24) direction, so I cannot answer.
 (25) Q Okay. I didn't mean to interrupt your

Page 74

(1) thought process. Was there anything else?
 (2) A The other intriguing thing was her
 (3) description, the patient's description of B
 (4) symptomatology which she did not seem to
 (5) share with anybody, and there's not one
 (6) doctor who indicated, including the two that
 (7) treated her, B symptomatology, and I thought
 (8) that was kind of unusual.
 (9) Q Meaning?
 (10) A Well, I don't know why she wouldn't
 (11) tell somebody. You know, she had these
 (12) profound symptoms and, yet, she never
 (13) bothered to mention them to a physician.
 (14) Q Which symptoms are you talking about?
 (15) A She -- if memory serves, she described
 (16) pretty significant night sweats that were
 (17) ongoing for months prior to this diagnosis,
 (18) and, yet, every single doctor that talks
 (19) about her Hodgkin's disease calls it Stage
 (20) IV-A.
 (21) Q Okay, were there any other symptoms
 (22) like that?
 (23) A Not that I recall.
 (24) Q Okay. How does your patient population
 (25) break down in terms of the stage at which

Page 75

(1) patients have been diagnosed?
 (2) A The vast majority of my patients with
 (3) Hodgkin's disease are early stage.
 (4) Q One or two?
 (5) A Probably more Stage II than Stage I.
 (6) It's very unusual to have Stage III. I
 (7) probably have more Stage IVs than Stage
 (8) IIIs. That's the best answer I can give
 (9) you.
 (10) Q Okay.
 (11) A I don't think I could break it down
 (12) percentage-wise. In an oncologist's mindset,
 (13) the patients on your mind are the ones that
 (14) are not doing well, and Hodgkin's disease
 (15) patients tend to do very well, so you don't
 (16) worry about them as much, so they're not on
 (17) my mind. I don't recall them.
 (18) Q How do patients do who have been
 (19) diagnosed at late Stage IV who have been
 (20) refractory to aggressive chemotherapy and
 (21) who then go through bone marrow transplant?
 (22) A I've only had two patients go through
 (23) bone marrow transplant. One is alive, and
 (24) one is dead.
 (25) Q So, 50/50?

Page 76

(1) A Yes.
 (2) Q Are you aware of what the prognostic
 (3) factors for survival are in patients who
 (4) have been refractory to aggressive chemo who
 (5) have to go through bone marrow transplant?
 (6) A After successful remission in their
 (7) bone marrow?
 (8) Q I'm asking, if you look at the patients
 (9) who, yes, are refractory to initial
 (10) treatment and who have to go on to bone
 (11) marrow transplant, are there prognostic
 (12) factors that point to whether those patients
 (13) are likely or not to survive?
 (14) A It all comes down to whether or not you
 (15) can elicit a complete response to therapy.
 (16) If after your therapy you have a complete
 (17) response, then the prognosis is better. If
 (18) there is no complete response, there is no
 (19) chance for cure.
 (20) Q And what does complete response mean
 (21) exactly?
 (22) A No evidence of disease anywhere.
 (23) Q Okay. What's her status as of now, or
 (24) as of the last time you've seen any
 (25) documentation?

Page 77

(1) A There's a new sort of like modified --
 (2) a modifier that they use for her, and it
 (3) would be -- what's the word?
 (4) Q CRU?
 (5) A Yes, CRU, complete response that you
 (6) cannot definitively demonstrate. I can't
 (7) remember what the "U" stands for. It's on
 (8) the tip of my tongue, and that's her
 (9) situation. She has this positive gallium
 (10) scan. They're questioning whether she has
 (11) sarcoidosis which is another cause for the
 (12) potential for lymphadenopathy, but there's
 (13) no evidence of growth. The biopsies they
 (14) have taken do not demonstrate any evidence
 (15) of recurrent disease.
 (16) Q Would you think that her prognosis is
 (17) any different from your personal two-patient
 (18) experience?
 (19) MR. HERBERT: Just note my
 (20) objection.
 (21) THE WITNESS: She's --
 (22) MR. HERBERT: Let me finish my
 (23) objection. To the extent that his personal
 (24) experience isn't exactly a statistical
 (25) study, but he can certainly answer.

Page 78

(1) THE WITNESS: She is -- if I
 (2) remember correctly, she's about two years
 (3) out from her transplant.
 (4) BY MR. SCHARON:
 (5) Q July.
 (6) A Okay, so 18 months out from her
 (7) transplant, and things are promising for
 (8) her. She has not shown any evidence of
 (9) definite recurrence over this period of
 (10) time. The longer she goes in this status,
 (11) the more comfortable I'll feel, but that's
 (12) --
 (13) Q Statistically, how far out does she
 (14) need to go before she can feel --
 (15) A Most recurrences occur within two
 (16) years. The standard is, most oncologists
 (17) like to quote five years. For Hodgkin's,
 (18) that might be a little bit long.
 (19) Q There's still some significant
 (20) percentage of patients who come out of this
 (21) process of bone marrow transplant who die
 (22) between two and three years, and then does
 (23) it level off after that?
 (24) A It continues to decline the farther out
 (25) you get from successful remission.

Page 79

(1) Q The rate of death declines?
 (2) A Yes.
 (3) Q So, there's a leveling?
 (4) A Well, a leveling to an oncologist means
 (5) that there are no further incidences after
 (6) that point, and that doesn't occur at two
 (7) years or three years; that occurs later.
 (8) Q Okay. Earlier, you were talking about
 (9) the fact that, looking at this case
 (10) retrospectively, the earliest demonstration
 (11) of clinical abnormality was her anemia.
 (12) A Yes.
 (13) Q If we take away the modifier of looking
 (14) at the case retrospectively, when was the
 (15) first clinical indication of Hodgkin's
 (16) disease?
 (17) MR. HERBERT: Just note my
 (18) objection to the question. I mean, do you
 (19) understand what he's asking, when he's
 (20) telling you to put aside retrospect?
 (21) BY MR. SCHARON:
 (22) Q I'm trying to put you in the place of
 (23) these physicians.
 (24) A Well, if you're asking me to do this
 (25) prospectively, I wasn't there. I can't do

Page 80

(1) it. I mean, every single thing I've done
 (2) for this case has been retrospectively.
 (3) Q Okay.
 (4) A So, I cannot answer that question.
 (5) Q Let me just review my notes and see if
 (6) I have anything more.
 (7) MR. HERBERT: For the record, if
 (8) his office sends you guys a letter about
 (9) whatever his charge is for the time here
 (10) today, you'll take care of that?
 (11) MR. SCHARON: Sure.
 (12) MR. HERBERT: Okay.
 (13) MR. SCHARON: Within reason.
 (14) MR. HERBERT: I wouldn't imagine
 (15) it would be more than Dr. Singer.
 (16) MR. SCHARON: Who knows.
 (17) BY MR. SCHARON:
 (18) Q You have no idea what your charge would
 (19) be for two hours of your time?
 (20) A No. We did this -- we did our charges
 (21) five years ago as a group based on what we
 (22) considered to be reasonable for this kind of
 (23) work, and I have no idea what it is.
 (24) MR. HERBERT: So, you're going to
 (25) get a break because of inflation anyway.

Page 81

(1) THE WITNESS: I actually prefer
 (2) not to know what I charge for anything.
 (3) BY MR. SCHARON:
 (4) Q Do you have an opinion as to what her
 (5) long-term prognosis is for survival?
 (6) A At this point, things look very
 (7) optimistic.
 (8) Q If you were talking to one of your
 (9) patients, and they said: what are my
 (10) chances, would you tell them: things look
 (11) very optimistic, or would you give them some
 (12) percentage?
 (13) A I would not give them a number.
 (14) Q No percentage?
 (15) A No, because the numbers --there's a
 (16) common misperception among laypeople that
 (17) these percentages translate into something
 (18) significant for them personally, when the
 (19) truth of the matter is that those statistics
 (20) are to be used for making therapeutic
 (21) decisions. Every patient is unique. Every
 (22) cancer is unique. So, once I have made
 (23) those therapeutic decisions within the best
 (24) of scientific probability, the rest is based
 (25) on their specific situation overall, and as

Page 82

(1) long as somebody's doing well, there is no
 (2) reason to be anything but optimistic.
 (3) Q Okay. What if you weren't talking to a
 (4) patient but you were talking to one of your
 (5) partners?
 (6) A It would be the same thing.
 (7) Q Okay.
 (8) A And their response to me would be the
 (9) same thing. If you have someone in clinical
 (10) remission, why would you assume that they
 (11) wouldn't stay there?
 (12) Q No. The question is just:
 (13) statistically, what are her chances?
 (14) A Someone who is at 18 months post bone
 (15) marrow transplant who is still in remission,
 (16) I have no idea what the answer to that
 (17) question is.
 (18) MR. SCHARON: Okay. I don't
 (19) have any other questions for you. Thanks
 (20) for your time today.
 (21) MR. HERBERT: He'll reserve the
 (22) right to read it.
 (23)
 (24) --- oOo ---
 (25)

Page 83

(1) CERTIFICATE
 (2) The State of Ohio,)
 (3) County of Cuyahoga.)
 (4) I, Luanne Stone, a Notary Public within
 (5) and for the State of Ohio, duly commissioned
 (6) and qualified, do hereby certify that the
 (7) above-named witness, JOHN J. PETRUS, M.D.,
 (8) was by me first duly sworn to testify to the
 (9) truth, the whole truth and nothing but the
 (10) truth in the case aforesaid; that the
 (11) testimony then given by the above-referenced
 (12) witness was by me reduced to stenotypy in
 (13) the presence of said witness; afterwards
 (14) transcribed; and that the foregoing is a
 (15) true and correct transcription of the
 (16) testimony so given by the above-referenced
 (17) witness.
 (18) I do further certify that this
 (19) deposition was taken at the time and place
 (20) in the foregoing caption specified and was
 (21) completed without adjournment.
 (22) I do further certify that I am not a
 (23) relative, counsel or attorney for either
 (24) party, or otherwise interested in the
 (25) event of this action.

Page 84

(1)
 (2) IN WITNESS WHEREOF, I have hereunto set
 (3) my hand and seal of office at Cleveland,
 (4) Ohio this ----- day of -----
 (5) A.D., 2000.
 (6)
 (7)
 (8)
 (9) _____
 (10) Luanne Stone, f.k.a., Protz-
 (11) Notary Public
 (12) Within and for the State of Ohio
 (13) My commission expires 4/6/03.
 (14)
 (15)
 (16)
 (17)
 (18)
 (19)
 (20)
 (21)
 (22)
 (23)
 (24)
 (25)

<p>Concordance Report</p> <p>Unique Words: 1,362</p> <p>Total Occurrences: 4,287</p> <p>Noise Words: 384</p> <p>Total Words In File: 11,902</p> <p>Single File Concordance</p> <p>Case Sensitive</p> <p>Noise Word List(s): NOISE.NOI</p> <p>Cover Pages = 0</p> <p>Includes ALL Text Occurrences</p> <p>Dates ON</p> <p>Includes Pure Numbers</p> <p>Possessive Forms ON</p> <p>-- DATES --</p> <p>4/6/03 84:12 10/10/96 25:5, 11 August 56:17 August of 1996 37:13 February 52:7 February, 2000 1:20 January 48:21 January of 1997 49:11; 51:2 July 34:19; 47:4; 58:24; 78:5 July of 1996 49:9 June 34:20; 50:19 June of 1996 46:19; 48:20; 49:6; 50:24 March 29:6; 33:4; 40:5; 42:9; 52:7; 56:13; 58:20; 61:17 March 29th 32:3 March of 1995 30:18, 22; 33:21; 71:1 May 44:14, 18; 58:22 May 21st, 1996 44:18 May 22, 1996 45:5 May of 1996 52:7 October 24:13 October 10th 24:13 October 10th, 1996 24:18 September 28 26:8</p> <p>-- 1 --</p> <p>I 3:8, 14 10/10/96 25:5, 11 100 23:16; 39:14 101 23:14, 18, 22; 24:1 1018-Williams 24:3 10th 24:13, 18 11.9 29:10, 25 12 29:25; 32:16; 44:20 16 44:20 18 78:6; 82:14 1984 4:8 1995 30:18, 22; 32:3; 33:21; 71:1</p>	<p>1996 24:14, 18; 37:13; 44:18; 45:6; 46:19; 48:20; 49:7, 9; 50:24; 52:7 1997 49:12; 51:2 1999 26:8</p> <p>-- 2 --</p> <p>2 23:2, 3, 5 20 9:24, 25 2000 1:20; 84:5 21st 44:18 22 45:5 224 1:20 28 26:8 29 24:13, 17, 18 29th 32:3</p> <p>-- 3 --</p> <p>30 9:24, 25 30.1 44:21 35.5 30:9 37 23:12, 20; 30:10; 44:21 38 23:16</p> <p>-- 4 --</p> <p>4.05 44:19 4.2 44:19 4/6/03 84:12 40 39:9; 54:15; 55:3 47 44:21 48 71:14</p> <p>-- 5 --</p> <p>5.4 44:19 50 54:15; 55:3 50/50 75:25</p> <p>-- 6 --</p> <p>65 64:6</p> <p>-- 7 --</p> <p>74.3 45:8</p> <p>-- 8 --</p> <p>80 64:1; 66:19 81 45:8 8th 1:20</p> <p>-- 9 --</p> <p>3.6 44:20 30 64:1, 4; 66:19 35 29:6; 33:4, 8; 40:5; 42:9; 56:14; 58:20; 59:7; 61:17; 73:9 36 44:14, 18; 50:20; 56:18; 58:22 37 34:20; 48:21; 58:24; 73:10 98CV358014 1:6 39 45:8</p>	<p>-- A --</p> <p>A-M-I-F-O-S-T-I-N-E 5:25 A.D. 84:5 abdomen 41:12; 42:12 able 43:21; 48:8; 51:6 abnormal 38:1; 39:17; 59:20 abnormality 33:24; 59:1; 79:11 above-named 83:7 above-referenced 83:11, 16 absolutes 53:24 ABVD 64:25; 65:4, 18; 66:17; 67:19, 25; 68:13 Academic 11:3 accept 57:22 acceptable 27:9; 48:21; 53:12 accident 18:22; 19:3 accidents 28:7 according 3:4 achieve 65:5, 9 achieved 65:17 action 7:19, 23; 83:25 active 45:23 actual 57:13 addition 16:21, 23; 60:15 additional 5:2; 11:21 adequately 52:14 adjournment 83:21 advertise 20:17 affected 7:17 aforesaid 83:10 afterwards 83:13 age 3:3 aggressive 75:20; 76:4 agreement 1:17 agrees 44:8 Akron 1:21; 4:17; 6:22, 25; 8:2, 18; 9:3 al 1:8; 8:24 alcohol 63:15 alive 75:23 alkaline 24:3; 33:12; 34:10; 61:20 Allison 1:7; 8:24; 25:19; 27:9; 30:18, 21, 23; 31:19; 35:3; 37:12; 38:8; 44:24; 45:1; 47:8; 49:6; 50:12, 22 Allison's 25:6, 10 Amifostine 5:25 amount 30:1 Andress 2:9; 20:2 Anemia 14:15 anemia 29:3, 9; 32:18, 23; 33:11, 24; 34:3, 24; 35:1, 5, 7, 15, 18, 23; 45:17, 18, 19; 46:1, 9, 16, 23; 47:10, 12; 48:4; 49:4, 8; 50:3, 14, 16, 18, 23; 51:10; 54:8, 21, 24; 55:6, 8, 25; 71:10; 79:11 anemias 62:10 anemic 29:7; 44:14, 22 anesthesiologists 5:20 Answer 12:3 answer 11:15; 32:13; 39:2, 6;</p>	<p>45:10; 48:25; 49:15; 51:19; 53:19; 56:11, 15, 23; 59:17, 18; 60:7; 70:10, 14, 19; 73:24; 75:8; 77:25; 80:4; 82:16 antibiotic 53:9 anticipate 70:2, 11 anybody 44:6; 74:5 anymore 21:24 anyway 80:25 anywhere 55:13; 76:22 APPEARANCES 2:1 appears 62:16 applied 44:24 appreciate 27:14; 44:5 approach 31:17 appropriate 49:21 area 43:8 areas 41:18; 70:17 arising 57:9 armpits 41:10, 11 arthritis 28:5; 32:11, 12; 45:24; 73:18, 22 asbestos 17:21; 18:3; 20:24 aside 79:20 asking 26:18; 44:6; 49:22; 50:7; 51:17; 52:16, 22, 24; 76:8; 79:19, 24 associated 24:2; 57:23 assume 31:14; 43:20; 46:4; 50:5; 82:10 assumed 10:4; 28:3; 51:9 assuming 3:22; 12:5, 22; 31:9; 38:23; 39:1; 45:4; 50:22; 51:5, 8; 72:12 attach 3:19 attorney 17:12, 14; 83:23 attorneys 13:19; 19:15 attributed 63:12 August 37:13; 56:17 author 5:23 availability 20:17 available 51:6 aware 35:3; 57:6; 63:16, 23; 76:2 awhile 69:5 Azem 25:18</p> <p>-- B --</p> <p>B.F. 17:20; 18:1, 8; 20:23 Barberton 8:2, 6, 8 Based 50:8 based 23:21; 25:5; 33:9, 10; 36:18, 25; 38:2; 52:25; 53:3; 58:2; 59:1; 67:15; 80:21; 81:24 basis 27:12; 28:16; 66:6 bearing 43:13 behalf 2:3, 8 Bennie 1:7; 31:19 besides 14:2 bigger 40:15 biggest 72:13 biologic 29:14 biopsies 64:17; 77:13 biopsy 34:4, 17; 41:3; 54:10,</p>
---	--	---	---

11, 12; 58:10; 62:12; 64:16; 66:5 bit 78:18 bleeding 46:2, 7 blood 32:2; 34:15; 44:15, 19; 46:5, 14; 48:16, 19; 49:11; 51:1, 10, 25 blossomed 16:7 board 5:7, 10, 13, 14, 15 body 28:6; 31:1; 63:9 Bone 41:15 bone 6:4; 13:2, 8, 10; 24:15, 21; 25:2; 33:20, 23; 34:4, 6, 11, 16; 41:13, 18; 42:8; 43:5, 9, 15, 16, 19; 44:2; 46:10; 53:4; 54:11; 55:9; 61:25; 62:8, 11; 66:5; 67:7, 20; 68:16; 69:6, 7, 14; 70:25; 75:21, 23; 76:5, 7, 10; 78:21; 82:14 borrow 27:23, 25 bothered 74:13 box 22:3 break 9:16; 74:25; 75:11; 80:25 breast 40:13; 57:10; 59:24 Building 9:3 bulk 73:10 business 57:18	centimeter 72:25 centimeters 72:16, 18, 19 CERTIFICATE 83:1 certifications 8:19 certified 5:7, 10 certify 83:6, 18, 22 chain 24:14, 20; 25:3 chance 61:5; 69:19; 76:19 chances 42:22; 81:10; 82:13 change 9:22 characteristic 57:21 characteristically 41:5 characterize 52:9 charge 21:1; 80:9, 18; 81:2 charged 21:6 charges 80:20 charging 21:2 chart 73:23 Chattman 2:4 check 21:11; 48:6, 12 chemo 67:7; 68:8; 76:4 chemotherapy 6:2; 64:22, 24, 25; 65:1, 4, 18; 66:17; 67:19; 68:2, 4, 13; 75:20 chest 41:8; 58:20, 22, 24; 73:11 chronic 9:15; 28:9; 35:23; 45:18; 52:10 circumstance 33:18 circumstances 10:13 cite 33:16, 17; 68:25; 69:3 Citizens 8:6 City 1:21; 8:3, 4 claim 12:24; 15:23 claims 21:17 Classic 62:19 classic 62:17, 21; 63:6 classically 71:18 Cleveland 4:23; 84:3 Clinic 4:24 Clinical 7:6 clinical 6:15; 7:5; 64:18; 67:18; 79:11, 15; 82:9 clinically 64:14; 73:8 co-morbid 28:13; 34:14 co-morbidities 27:17, 21 College 4:3; 5:3 colony 58:5 combination 6:1; 17:13; 35:24 comfortable 69:21; 78:11 coming 30:20 commission 84:12 commissioned 83:5 Committee 11:3 COMMON 1:3 common 30:12; 36:23; 40:23; 41:1; 42:15; 71:13; 81:16 company 18:21, 24 compared 59:24; 61:11 complete 73:14; 76:15, 16, 18, 20; 77:5 completed 83:21 completely 5:15 compliant 51:7 complicated 8:13	complication 13:1 computer 22:15, 16 concerning 26:12 conclude 53:13 condition 13:11; 14:13; 35:15; 53:1 conditions 28:14; 34:14 confirmed 34:5; 62:8 confused 19:7 congenital 35:15; 46:12 connote 61:8; 63:13 consider 31:4; 37:7; 64:22; 67:20; 68:3 consideration 68:1 considered 41:24; 68:11; 80:22 consistent 34:11, 13; 35:22; 46:14 constellation 58:2; 63:19 consult 17:11; 19:14; 20:19; 27:4 consultancies 19:9 consultancy 21:15 consulted 16:25; 17:1; 19:22, 24; 26:5 consulting 17:4, 7, 10; 19:21 contact 20:15 contacted 20:7 contained 1:19 contains 22:3; 27:14 continue 47:9 continues 78:24 continuing 12:10 continuous 58:5 coordinating 6:12 copy 3:18 corrected 26:20 correctly 72:10; 78:2 counsel 1:17, 19; 83:23 count 19:4; 44:19 counts 34:15; 51:25 County 1:2, 22; 12:8; 14:8; 15:14; 16:2; 83:3 county 12:16 couple 3:21 course 25:19; 27:3 COURT 1:3 court 10:25; 20:22 courtesy 8:11 covered 69:22 crit 30:4 CROSS-EXAMINATION 3:6 cross-examination 1:14 crossover 9:22 CRU 77:4, 5 CT 66:2 CTs 42:10 cure 63:24; 66:18; 76:19 Cured 67:3 cured 60:11; 66:17, 23; 67:2 current 3:16 curriculum 6:13 Cuyahoga 1:2; 8:6; 83:3 CV 3:14, 16; 6:6	-- D -- date 20:10 dated 26:8 dating 29:4 day 1:20; 16:10; 37:24; 84:4 day's 52:2 dead 75:24 dealing 60:14 death 79:1 decide 67:13 decisions 81:21, 23 decline 34:8; 61:18; 78:24 declines 79:1 decrease 61:5 defendant 10:21, 22; 11:9 Defendants 1:9; 2:8 defendants 8:25; 14:2; 15:2; 19:22 defending 19:15 defense 17:12; 19:15 deficiency 34:3; 35:1, 4, 6, 14, 18; 45:18, 25; 46:16, 22; 47:12; 48:4; 49:8; 50:3, 14, 15, 18, 23; 51:9 defies 58:7 define 66:2 definite 78:9 definitely 66:24; 68:15 definitive 73:21 definitively 77:6 delay 36:15; 67:10 delayed 21:18 demonstrate 34:3; 77:6, 14 demonstration 79:10 depending 61:6 depends 37:21; 38:5, 16, 17; 45:12; 49:3; 71:12 deposes 3:4 Deposition 1:12 deposition 8:23; 9:1; 10:8; 25:6; 50:2; 83:19 depositions 11:8; 16:13; 17:2; 22:4; 38:7 describe 9:8; 30:2; 71:12 described 24:21, 24; 54:19; 55:13; 71:9; 72:14, 15; 74:15 describing 24:17 description 74:3 despite 67:25 determine 29:13 developing 29:9 DeVita's 37:8 diagnose 54:11 diagnosed 35:4; 52:4; 56:6, 13, 17; 60:10, 18; 63:17, 25; 34:10; 65:18; 66:16; 68:7, 12; 39:13; 71:14; 72:2; 73:9; 75:1, 19 diagnoses 45:16; 55:17, 22; 56:3 diagnosis 13:13; 18:16; 21:18; 34:5; 36:4, 9, 15; 48:3; 58:1, 9, 13; 62:9; 67:10; 73:21; 74:17
-- C -- C-A-R-B-O-P-L-A-T-I-N 6:2 call 45:1 calling 29:5 calls 74:19 Cancer 37:9 cancer 40:12, 13; 58:1; 59:25; 81:22 cancerous 58:4 capacity 6:9 caption 83:20 car 18:22; 19:2; 29:18 Carboplatin 6:2 cards 47:1; 49:9; 50:25 care 9:11, 12; 18:14; 27:8, 19; 30:22; 44:23; 80:10 career 54:14 Case 1:5 case 8:23; 11:5, 9, 10; 13:5, 16, 19, 21; 14:5, 7, 16, 24; 16:2, 14, 15, 22; 18:9, 19; 19:16; 20:8, 23, 24; 22:5; 23:9; 25:14; 26:13; 27:3; 49:21; 62:16; 69:23; 79:9, 14; 80:2; 83:10 cases 11:11, 12; 12:7; 15:15; 16:12, 17, 25; 17:9, 15, 16, 23, 25; 18:6, 8, 13; 19:5, 16; 21:14, 17; 25:25; 26:4, 5 CAT 64:15, 16 cell 57:13, 19, 20, 23; 58:10; 60:1; 68:5, 8 cells 58:5, 14 cellularity 42:13; 71:16 Center 6:23			

diameter 72:18 diaphragm 42:16; 64:12 die 78:21 died 13:1; 18:13 differential 45:16, 22; 56:3 differentiate 37:25 difficult 39:15, 23; 49:24; 54:10; 55:17 diminished 6:3 direction 73:24 Disabling 28:4 disabling 28:6 discriminate 39:15; 73:2 discuss 27:5 discussed 69:5 discussion 24:10 disease 18:3, 23; 19:2; 21:19; 32:23; 33:3, 5, 8; 34:6, 12; 35:23; 36:8, 15; 37:13; 39:18, 24, 25; 40:5, 7, 11, 17, 21, 22; 41:3, 16; 42:1, 3, 14, 15, 19, 24; 43:2, 8, 18; 44:1; 45:19; 52:24; 53:2, 3, 8; 54:9, 25; 55:6, 7, 15, 19; 56:2; 57:8, 14, 21, 25; 58:7, 13; 59:3, 9, 19, 20, 23; 60:9, 14, 18, 23, 25; 61:16; 62:18, 20; 63:1, 12; 64:10, 11, 19; 65:20; 66:23; 67:1, 9, 24; 68:5, 69:13; 71:15, 16, 19; 72:1, 3; 73:8, 11; 74:19; 75:3, 14; 76:22; 77:15; 79:16 dismissal 11:2 disorder 46:12 disorders 56:1 Doctor 3:10; 73:17 doctor 31:8, 10, 12, 13, 19; 74:6, 18 doctors 48:18 documentation 76:25 documented 37:12; 56:20 doesn't 29:16; 30:6; 79:6 Dorothy 1:4; 25:16; 44:14; 54:2, 20; 56:6 dose 67:7; 68:4, 7 double 21:23 doubling 60:2, 3 doubt 56:12; 62:11 Dr 25:5, 10, 21; 30:18, 21, 23; 31:19; 37:12; 44:25; 47:8; 49:6; 50:12, 22; 80:15 draft 26:15 drafts 26:19 drenching 63:10 drop 52:1 Drs 25:18; 27:9; 35:3; 44:24 drug 5:25; 6:2 due 38:17, 18; 45:23; 46:4, 8, 11, 15; 51:10; 67:8 duly 3:3; 83:5, 8	75:3 sasily 37:25; 64:4 edema 28:9 edition 24:7, 8 slaborate 53:18 elect 6:18 electrical 28:5 elevation 24:2; 33:11 elicit 76:15 elsewhere 72:1 end 4:20; 34:19 and-of-life 9:11 enlarged 53:7; 73:3 Enlargement 63:4 snlargement 40:2; 59:5 Esq 2:5 essentially 30:17 establishing 31:25 estimate 17:6 et 1:8; 8:24 ethics 6:15 evaded 43:10 evaluate 46:6; 47:14 evaluation 31:4, 14; 62:10 event 83:25 evidence 67:23; 76:22; 77:13, 14; 78:8 exact 65:7 exactly 51:15; 76:21; 77:24 examination 65:25 example 41:6; 43:11; 55:21; 67:17 exceedingly 35:11; 54:10 exception 36:14 Exchange 1:21 exclude 43:24; 49:15 excluded 48:25 excuses 49:19 Exhibit 3:7, 14; 23:1, 3, 5 exists 26:24; 36:25 expect 38:3, 14; 69:23 expecting 51:13 experience 36:19; 38:2, 6; 77:18, 24 experimental 68:10 expert 1:13; 8:25; 10:15, 19, 24; 16:16; 43:22 expertise 37:24; 38:4, 9; 44:7 expires 84:12 explain 23:18; 35:25; 52:14; 37:12 explains 49:20 sxpplanation 33:22; 34:23; 45:14; 49:3; 63:11 sxplanations 36:2 exposure 17:21 sxpress 69:24 sxtended 60:5 extent 48:24; 49:14; 70:1; 77:23	39:13; 47:12; 57:22; 59:22; 71:9; 73:6, 7; 79:9 factor 60:10 factors 76:3, 12 facts 48:24; 49:15 failed 18:16 Fair 51:24 fairly 5:13, 15; 14:9; 64:7; 68:3 Falls 8:7 falls 28:9; 71:21 familiar 26:9 favorable 61:5 feature 71:11, 22 features 60:15; 73:16 February 1:20; 52:7 federal 10:25 feel 37:24; 39:9; 41:2; 51:14; 78:11, 14 feeling 54:23; 70:24 fellowship 4:22; 5:5, 6 felt 27:16; 38:10; 47:11 female 30:3, 11; 46:3; 47:13 females 29:24; 45:9 Fever 63:7 fever 55:21; 59:13 field 43:22; 44:7; 64:23 fifth 66:12 figure 31:15; 46:1 filed 11:13, 17; 12:7; 26:4 filing 14:9 filings 11:21 find 30:24; 36:24; 37:22; 45:5; 55:17; 58:9; 70:16; 72:14 finding 33:20; 58:4 fingers 72:19 finish 70:5; 77:22 firm 20:2 First 68:9 first 3:3; 4:13; 5:18; 8:20; 14:4; 15:4; 20:7; 27:7; 33:2; 40:25; 58:18; 71:10; 73:8; 79:15; 83:8 fish 24:15, 20; 25:2 fits 36:5 Five 10:12 five 48:14; 78:17; 80:21 follow 31:24; 66:12 follow-up 48:15, 18; 49:11; 51:1; 65:16; 66:1, 8 followed 32:8; 42:3 following 24:15, 20; 25:1; 30:6 follows 3:5 for-instance 36:2 foregoing 83:14, 20 forget 54:17 forgotten 24:6 form 28:15 forth 7:3 forties 37:15; 38:11 four 12:1; 17:16; 19:8; 66:10; 72:24 fourth 66:11 frame 57:5 Frequency 66:2 frequency 66:3 frequent 28:8	front 44:17 full 3:10; 8:10, 14 functioning 31:1 -- G -- Gaines 2:4 gallium 77:9 gastrointestinal 46:6; 47:15 gave 19:11 geez 8:13 generalized 63:14 Georgia 5:3; 7:12, 13 gets 40:15 give 9:17; 11:8; 16:12; 20:10; 29:17; 32:13; 36:1; 47:16, 19; 48:5; 67:14; 75:8; 81:11, 13 Given 32:7 given 10:8; 15:24; 19:13; 27:16; 32:10; 35:9; 47:12; 71:25; 73:6; 83:11, 16 giving 17:2; 47:24; 48:11 goes 78:10 gold 34:17; 62:9 Goodrich 17:20; 18:1, 8; 20:23 gotten 49:10; 51:1 graduate 4:7 grain 29:20 Great 3:18 greater 63:8 group 41:5; 80:21 growing 40:15; 60:6 growth 77:13 guidelines 66:14 guys 80:8 -- H -- H-A-L-L 13:25 half 36:9; 72:15 Hall 13:24; 16:14 hand 84:3 hard 9:10 hasn't 21:11 hat 52:1; 70:12 hats 9:22 haven't 3:19; 19:13; 70:17, 22 He'll 82:21 He's 70:12 he's 79:19 head 6:10; 63:22 headaches 28:7, 8 hear 14:19; 16:10 hematocrit 30:5; 44:21; 61:19 hematologist 51:18, 23, 25 Hematology 24:9; 37:8 hematology 4:11, 23; 5:4, 9, 11; 6:10, 13, 17; 9:13, 20, 25; 10:5; 24:8 hemoglobin 29:10; 30:5; 34:8; 44:20; 61:19 HERBERT 11:14; 12:2, 9, 12; 15:16; 18:7, 11; 21:10; 22:22; 23:3; 31:7, 18; 38:23; 48:23; 49:13; 50:4; 51:3; 52:15; 56:10; 61:24; 62:3; 69:25;
-- E -- earliest 56:16; 79:10 early 29:8; 56:13; 58:19; 72:3;	-- F -- f.k.a. 84:9 fact 14:11; 33:10; 36:13;		

70:5, 21; 71:5; 72:22; 77:19, 22; 79:17; 80:7, 12, 14, 24; 82:21
 Herbert 2:10; 19:25; 20:14
 hereby 83:6
 herein 1:13, 19
 hereunto 84:2
 high 67:7; 68:4, 7
 history 28:7, 8, 9; 32:11; 46:14; 57:11; 65:25; 73:18
 hit 35:13
 Hodgkin's 18:23; 19:1; 21:19; 32:23; 33:3, 5, 7; 34:5, 12; 36:8, 15; 37:13; 39:18, 24, 25; 40:4, 7, 11, 17, 21, 22; 41:16, 25; 42:3, 14, 15, 24; 43:1, 7, 18; 44:1; 52:24; 53:1, 2, 8, 25; 54:9, 13, 25; 55:3, 6, 7, 15, 18; 56:2, 7, 25; 57:8, 14, 21, 24, 25; 58:7, 9, 13; 59:3, 9, 20, 23; 60:13, 18, 23, 24; 62:18, 20; 63:12, 16; 64:10, 19; 65:5; 66:16, 23; 67:1; 68:5; 69:13; 71:15, 16, 19; 72:1, 3; 74:19; 75:3, 14; 78:17; 79:15
 honestly 39:2
 hoops 51:13
 Hopefully 47:5
 hospice 9:12; 10:1
 Hospital 8:3, 4, 6, 7, 8, 9; 9:4
 hospital 8:7; 14:3
 hospitals 7:24
 hours 80:19
 human 29:15
 hyphothesizing 54:2
 hypochromic-microcytic 45:17
 hypothesize 42:7
 hypothetical 31:24; 48:24
 Hypothetically 30:20; 31:2

-- I --

I'd 14:19; 21:5; 66:12
 I've 5:18; 25:21, 22; 75:22; 80:1
 idea 9:18; 20:6, 16; 21:5; 40:9; 44:9; 73:19; 80:18, 23; 82:16
 ideal 58:11
 Ideally 49:16
 identification 3:8; 23:2
 identify 14:24
 II 61:1; 63:18, 25; 64:1, 10, 19; 65:5, 13, 14, 19, 20; 66:16; 68:7, 13; 69:13; 72:4; 75:5
 III 61:1; 64:5, 6, 7; 75:6
 IIIs 75:8
 imagine 80:14
 implies 41:16; 55:9
 imply 53:21
 impressed 73:12
 impression 25:9
 incidences 79:5
 incident 24:15, 21; 25:2
 include 16:19; 25:18; 34:16; 61:25; 64:20; 66:5

includes 66:20
 increase 34:9; 59:21; 61:20
 increases 42:22
 independent 31:3, 14; 67:10
 indicated 74:6
 indication 79:15
 indicative 33:20
 Indirectly 10:4
 infection 38:13, 17, 18; 39:10
 infections 28:21, 25; 37:18; 38:21, 22; 52:5, 9, 13; 53:14
 inflammation 53:23; 58:3
 inflammatory 57:15
 inflation 80:25
 information 72:7
 ingesting 63:15
 inherent 67:8, 15
 initial 10:5; 29:3, 5; 31:19; 76:9
 injury 28:5
 insignificant 30:1
 instance 9:18
 nsurance 18:21, 24
 ntends 70:9
 nterested 83:24
 nterests 9:11, 14, 16
 nternal 4:11
 nternal 4:13, 15; 5:8, 10; 6:21; 10:3, 6; 30:21
 nternist 27:20; 38:3, 4; 51:20; 53:12
 nternists 44:24
 nterpret 29:21
 nterpreted 59:2
 nterrupt 73:25
 ntra-abdominal 42:19, 23; 43:12
 ntrigued 71:24
 ntriguing 74:2
 nvestigated 53:15
 nvestigating 47:9
 nvestigation 45:12
 ivestigation 45:1
 ivolve 14:8
 involved 6:20; 11:5; 18:21; 20:5; 21:16, 17; 25:14, 15, 25; 6:1, 3; 27:17; 64:22
 involvement 24:1; 33:21; 4:11; 39:25; 41:13, 15, 19, 20; 42:23; 55:10; 59:6; 62:12; 9:6; 70:25; 72:5
 involving 34:6; 44:1; 59:4
 on 34:1, 3, 25; 35:4, 6, 14, 8, 19, 21; 45:17, 25; 46:15, 6, 22, 25; 47:12, 16, 18, 19, 20, 24; 48:1, 4, 5, 11, 20; 49:7, 19; 50:2, 13, 15, 17, 19, 23, 24; 1:9
 olated 55:24
 sue 71:4
 issues 11:4; 70:9, 22; 71:2
 itching 63:14
 IV 33:7; 36:8; 40:4; 41:15; 44:1; 59:9; 60:24; 61:16; 64:5, 6, 7; 65:9; 67:1; 75:19
 IV-A 74:20

IVs 75:7

-- J --

Jamie 13:24
 January 48:21; 49:11; 51:2
 JOHN 1:12; 3:2, 6; 83:7
 John 2:5; 3:12; 12:10; 70:6
 Joseph 2:10; 3:12
 July 34:19; 47:4; 49:9; 58:24; 78:5
 jump 51:13
 June 34:20; 46:19; 48:20; 49:6; 50:19, 24
 junior 6:15

-- K --

keep 23:23
 keeps 40:15; 60:2
 kinds 36:1
 knowing 66:3
 knowledge 12:3; 32:1

-- L --

lab 65:24; 66:1
 laboratories 44:17
 laboratory 29:23; 33:20; 61:15
 Laboratory-wise 62:4
 labs 61:25
 lady 48:19; 51:1
 laparotomy 64:14, 21
 last 4:18; 23:14, 18; 41:14; 76:24
 lasting 63:10
 ate 75:19
 aw 3:4
 awful 3:3
 aypeople 81:16
 azarus 25:20, 21
 ead 45:20
 eans 73:19
 ag 28:9
 egal 17:6, 10; 19:21
 .et's 8:22; 9:21; 31:24
 et's 11:7
 letter 80:8
 level 78:23
 leveling 79:3, 4
 levels 32:10; 35:13; 48:6, 13
 license 7:16, 20
 licensed 7:7, 11, 13
 limit 29:24; 30:10
 line 68:9
 list 21:14; 28:18
 listed 40:3
 listing 28:13
 literature 5:22; 22:7; 36:16, 24; 55:14; 57:18
 liver 41:19
 location 39:5
 long-term 81:5
 loss 59:14; 63:8
 losses 46:5; 51:10
 lot 9:12, 13, 15, 21

low 29:10, 13; 35:13, 21
 lower 29:24; 30:10
 Luanne 1:15; 83:4; 84:9
 lung 41:19; 54:12
 lymph 24:19, 25; 37:11, 16, 22, 24; 38:1, 12, 14; 39:4, 5, 6, 9, 17, 25; 40:1, 25; 41:2, 6, 7, 24; 42:20; 43:4, 6, 12; 52:23; 53:7, 21; 54:9; 59:4, 6; 63:4, 14; 64:16; 71:25; 72:11, 13
 lymph-node-bearing 41:17; 43:8, 10
 lymphadenopathy 52:14, 25; 53:6, 13, 21; 55:24; 56:21; 77:12
 lymphoma 13:12; 56:2; 73:20
 lymphoproliferative 56:1

-- M --

M-C-C-L-U-S-K-E-Y 15:9
 M.D. 1:7, 12; 2:10; 3:2, 6, 12; 83:7
 machine 29:16; 30:25
 maintain 15:24
 major 9:10
 majority 65:6; 75:2
 malignancy 58:6; 67:16
 malignant 18:14; 57:13, 23
 malpractice 16:24; 17:23, 25; 18:6, 8, 13; 19:5, 16
 manifestation 54:8
 manifested 73:9
 March 29:6; 30:18, 22; 32:3; 33:4, 21; 40:5; 42:9; 52:7; 56:13; 58:20; 61:17; 71:1
 narch 41:8, 12
 nark 15:10
 marked 3:8, 13; 23:2, 4
 Marrow 24:1
 narrow 6:4; 13:2, 8, 10; 33:21, 23; 34:4, 6, 11, 17; 41:13, 15, 19; 42:8; 43:5, 9, 15, 16, 19; 44:2, 3; 46:10; 53:4; 54:11; 55:9; 62:1, 9, 12; 66:5; 67:8, 11; 68:16; 69:6, 7, 14; 70:25; 75:21, 23; 76:5, 7, 11; 78:21; 82:15
 mask 47:24
 mass 40:14; 58:18; 72:21, 25
 massive 13:12
 material 22:5
 matter 20:15; 21:3; 30:6; 81:19
 matters 20:5, 22; 21:15
 May 44:14, 18; 45:5; 52:7; 58:22
 McCluskey 15:7, 8, 11
 MCV 29:10; 34:9; 35:12; 45:2, 6; 61:19
 mean 48:2; 49:20; 51:16, 23; 55:18; 57:14; 58:11; 60:20; 61:25; 71:15; 73:25; 76:20; 79:18; 80:1
 Meaning 74:9
 means 67:3; 79:4

From **meant to plaintiff**

<p>Plaintiffs 3:7, 13; 23:1, 5 plaintiffs 17:11, 14 plan 22:10 planned 3:21 planning 70:18 PLEAS 1:3 please 67:12 plus 24:15, 22, 24; 64:4 point 17:2; 28:3; 42:22; 44:8, 11, 12, 25; 76:12; 79:6; 81:6 poisoning 45:20 population 74:24 Portage 12:20; 14:7 position 61:16 positive 77:9 possibility 43:25; 56:15 post 24:14; 82:14 posterior 24:20; 25:3 postgraduate 4:1 potential 77:12 potentially 43:14 pounds 39:14 practice 5:1; 7:8; 9:7, 9; 30:23; 31:2 practicing 13:3 prefer 81:1 premenopausal 30:3 prescribe 50:19 presence 83:13 present 25:1; 54:1; 55:6; 61:7 presentation 13:15; 27:18; 28:15; 29:2, 3, 5; 32:18, 22; 35:10; 40:24; 41:1; 42:17; 54:24; 55:11, 13; 71:8, 21, 23; 73:16 presentations 55:18; 71:17 presented 54:2; 71:10 presenting 54:19, 20 presents 55:8, 21 Presuming 47:11 presuming 38:16 pretty 5:16; 28:18; 29:24; 55:8; 74:16 primary 18:14; 54:8 print 22:18 printed 23:8 prior 30:24; 46:14; 59:7; 73:18; 74:17 private 5:1 privileges 7:23; 8:1, 11, 12, 14 probability 81:24 problems 10:6 procedure 13:2, 9 process 58:4; 60:1; 74:1; 78:21 produced 26:12 profound 74:12 prognosis 60:24; 61:5, 8; 63:13, 24; 76:17; 77:16; 81:5 prognostic 60:10, 12, 15; 76:2, 11 prognostic-wise 64:8 progress 35:12; 56:25 progression 42:4, 6; 67:24 progressive 34:8, 9; 61:18, 20</p>	<p>promise 21:25 promising 78:7 Promotions 11:3 prospectively 79:25 prostate 57:10; 59:25 protocol 68:10 Protz-Notary 84:9 provided 26:7 Public 1:16; 83:4; 84:10 published 5:21 pulling 70:11 purely 67:15 purposes 3:8; 23:2 pursuant 1:17, 18</p> <hr/> <p>-- Q --</p> <hr/> <p>qualified 83:6 question 15:10; 39:3, 7; 47:3; 51:4, 19; 52:16; 54:22; 56:16; 59:18; 60:8; 70:2, 7; 79:18; 80:4; 82:12, 17 questioning 77:10 questions 3:21; 8:14; 69:19; 70:19; 82:19 quote 78:17</p> <hr/> <p>-- R --</p> <hr/> <p>rabbits 70:12 radiation 64:23 radiographic 42:10 radiologic 66:1 radiologist 59:2 range 29:25; 32:15; 44:19, 20, 21; 45:8; 66:19 rate 66:18; 79:1 Ravenna 12:21 reaction 53:22; 58:3 reactive 53:21 read 36:19; 37:3; 54:18; 82:22 reading 37:1 readings 29:17 reason 17:18; 18:25; 50:19; 67:20; 80:13; 82:2 reasonable 31:5, 16; 46:4; 47:14, 18; 48:2; 65:2; 68:2; 80:22 reasons 40:3 recall 15:1; 20:8; 54:7; 74:23; 75:17 receive 65:4, 9, 12 recent 14:9 recess 22:24; 28:10 recognize 14:25 recognized 5:16 recollection 26:25; 50:11 record 3:11; 18:15; 22:22; 28:4; 49:19; 50:8; 72:22; 80:7 records 22:3; 23:21; 24:11, 22; 25:7, 11; 30:24; 50:1; 56:4; 62:16; 70:24 recurrence 78:9 recurrences 78:15 recurrent 28:24; 52:10, 11; 77:15</p>	<p>red 44:18 reduced 83:12 Reed-Sternberg 57:19, 20; 58:10, 14 refer 27:22; 32:17; 36:16; 69:9, 12 reference 24:5; 33:13 referred 32:22 referring 72:9 reflect 47:5 refractory 68:13; 75:20; 76:4, 9 refusing 18:25 regimen 65:9, 13, 17 regimens 65:2 regions 43:11, 13 relapses 66:21 relative 83:23 remain 38:14 remember 13:18, 21; 14:4; 15:11; 16:3, 4; 17:4; 20:4; 25:8, 12; 30:19; 50:8; 65:6; 72:10; 77:7; 78:2 remission 65:5, 10, 18; 76:6; 78:25; 82:10, 15 removed 72:11 rendered 27:8, 19 replacement 47:16 report 26:7, 16; 27:8, 14; 67:6 representing 14:21 reputation 55:16 require 69:9 required 45:21; 46:9; 68:1 requires 45:13 rescue 68:5, 8 reserve 82:21 residency 4:14, 15, 21; 6:25 residents 6:21, 22 resistance 67:9 respect 17:9 respiratory 28:21, 25; 38:13 respond 53:8 response 57:16; 67:19; 76:15, 17, 18, 20; 77:5; 82:8 responsible 6:12 rest 12:22; 81:24 results 32:7; 34:17; 35:25; 47:25 retrospect 29:8, 11, 12; 44:4; 52:16, 20, 23; 79:20 retrospectively 79:10, 14; 80:2 Review 11:3 review 22:8; 23:21; 24:11; 38:7; 56:4; 70:23; 80:5 reviewed 22:5; 24:23; 62:14 reviewing 25:10 revisions 27:1 rheumatoid 28:5; 32:11, 12; 45:24; 73:18, 22 Right 61:14; 62:25 right 8:3, 4; 10:2; 12:12; 21:21; 28:6; 29:22; 32:5; 35:20; 37:6; 39:22; 44:10; 50:3; 52:5; 53:5; 61:22; 62:3, 7, 13; 67:22; 82:22 rigorous 5:17</p>	<p>Roetzel 2:9; 20:2 Rootstown 4:6 Ross 1:4; 8:23; 25:16; 44:14; 52:3; 54:2, 20; 56:6 rotation 6:18 rough 9:17 rounds 7:3 routine 65:24; 66:1, 6, 9, 14 rule 36:13; 39:19 rules 58:7 run 29:16 ruptured 18:22; 19:1</p> <hr/> <p>-- S --</p> <hr/> <p>salt 29:20 salvage 68:1 salvaged 66:21 sarcoidosis 77:11 satisfy 3:15 saying 18:25; 43:1; 44:5, 11 scan 77:10 scans 64:15, 16; 66:2 SCHARON 3:9; 11:18; 12:6, 11, 14; 15:20; 18:17; 21:13; 23:4, 6; 28:12; 31:11, 21; 38:25; 49:5, 18; 50:6; 51:21; 52:18; 56:19; 62:2, 6; 70:4, 15; 71:7; 73:4; 78:4; 79:21; 80:11, 13, 16, 17; 81:3; 82:18 Scharon 2:5 scheduled 19:12 school 3:24; 4:2; 6:11; 11:1 scientific 81:24 seal 84:3 second 5:23; 18:19; 22:23 secretary 21:4, 7 sends 80:8 senior 6:16 sense 47:22; 48:9; 52:17; 69:2 sensitivity 67:16 September 26:8 serum 35:21 serves 42:20; 74:15 service 6:11 services 21:2, 3 settled 13:17 share 74:5 shared 25:21 She's 77:21 she's 14:10; 31:14; 46:2; 78:2 show 33:2 sideroblastic 45:19; 46:9 sides 42:21 significant 30:2, 9; 58:25; 73:10; 74:16; 78:19; 81:18 signs 29:9; 33:3; 62:17; 63:2 Singer 80:15 single 23:10; 74:18; 80:1 Sinus 38:22 sinus 37:17; 38:21; 52:4, 9, 13; 53:14 situation 13:14; 27:17; 51:11; 77:9; 81:25 Six 32:16</p>
--	--	---	--

six 10:12; 66:11; 72:25 size 39:4; 72:8, 23 skinny 39:13 slides 62:14 solid 57:9 somebody 55:23, 24; 57:7; 69:10; 74:11 somebody's 82:1 Someone 82:14 someone 35:12; 41:2; 82:9 someplace 57:7 somewhere 66:19 sophomore 6:14 sorry 10:16, 22; 12:18; 14:25; 27:24; 31:6 sort 77:1 Specialty 8:8 specific 60:7; 81:25 specifically 36:20 specifics 66:4 specified 83:20 spend 9:19, 20; 17:6 Spleen 41:21, 22, 24 spleen 18:22, 24; 19:1; 41:22 split 66:22 spoken 25:23 spread 40:16, 17; 41:4, 17; 43:2, 9, 14, 16 spreads 40:21 square 31:15 SS 1:2 stable 32:12 Stage 33:7; 36:8; 40:4; 41:3; 15:44:1; 59:8; 60:23, 24; 61:16; 63:18, 25; 64:1, 3, 10, 19; 65:5, 9, 13, 14, 19, 20; 66:16; 67:1; 68:7, 12; 69:13; 72:4; 74:19; 75:5, 6, 7, 19 stage 41:14; 57:1; 60:9, 12, 13, 21; 61:9; 63:16; 72:4; 74:25; 75:3 staged 64:13, 14 stages 61:4 staging 41:25; 64:13, 19, 20 standard 27:19; 34:18; 44:23; 51:20; 62:9; 66:14; 68:11; 78:16 standard-of-care 11:4 standards 27:10 standpoint 70:8 stands 31:16; 77:7 Start 4:2 start 23:22; 37:2; 44:3; 51:4; 60:5 started 43:14, 15; 44:13 starting 31:15 starts 40:23; 57:12; 60:1 State 1:1, 16, 22; 83:2, 5; 84:11 state 3:10; 7:10, 17 stated 27:7; 67:6 statement 25:4; 27:15; 33:19; 36:17; 49:2 statistic 63:21 statistical 77:24	Statistically 78:13 statistically 82:13 statistics 63:23; 81:19 status 76:23; 78:10 statute 1:15 stay 60:4; 82:11 stem 68:4, 8 stenotypy 83:12 Stern 2:4 sternal 13:10 stipulations 1:18 Stone 1:15; 83:4; 84:9 stool 47:1; 49:9; 50:25 straight 10:2 Street 1:21 strong 45:13 Stubblefield 15:19; 16:14 students 6:14, 16, 17; 11:1 studies 34:2; 35:19; 42:11; 46:17, 22, 25; 47:20; 48:20; 49:7; 50:24; 61:15; 68:25 study 6:19; 77:25 stuff 70:13 submandibularly 37:17 subsequent 34:1 subspecialties 5:9 substantive 71:4 subtle 72:6 successful 21:25; 38:24; 60:19; 76:6; 78:25 sued 10:20 suing 11:1; 14:10; 17:17, 20; 18:20 Summa 8:2 Summit 1:22; 12:8, 23; 15:14; 16:2 support 33:19; 36:17; 61:15 supposition 49:22; 53:3 suppositions 49:23 surgeon 72:11 surprised 14:19 surveillance 65:16 survival 76:3; 81:5 survive 76:13 sustained 67:18 sweats 59:13; 63:7, 10; 74:16 swollen 37:11, 16; 38:12, 14 sworn 3:3; 83:8 symptom 54:20 symptomatology 51:12; 74:4, 7 symptoms 59:9, 12, 13; 60:16; 61:7, 8, 13; 62:17; 63:2, 6; 74:12, 14, 21 system 29:15	elling 42:11; 43:3, 17; 47:4; 50:9; 79:20 en 63:9 end 75:15 endency 40:16 ender 53:16, 20 ends 40:17 ermed 19:5 erms 70:22; 71:8; 74:25 estified 16:16; 19:24; 20:21 estify 69:20; 70:9, 18; 83:8 estimony 25:6, 10; 83:11, 16 Textbook 37:8 extbook 24:9 extbooks 37:3 exture 39:4 halassemia 35:16; 45:19; 46:12 Thank 23:11 Thanks 3:13; 82:19 hanks 3:18; 25:13 herapeutic 47:17; 48:1; 81:20, 23 herapy 47:16; 53:9; 64:23; 57:13, 17; 68:11, 14; 76:15, 16 There's 43:16, 20; 62:11; 77:1; 78:19 there's 8:7; 9:21; 19:10; 36:14; 39:20; 42:11; 44:6; 55:9; 71:16; 74:5; 77:12; 79:3; 81:15 thereafter 66:13 They're 77:10 they're 6:24; 75:16 thinking 49:23; 51:15 third 5:23; 24:7; 66:11; 68:22, 23 Three 4:19; 10:24; 17:16; 18:11 three 10:14; 16:17, 21, 24; 17:14, 15, 22; 19:8; 48:14; 52:7; 65:24; 66:9; 72:17, 18; 78:22; 79:7 three-month 66:7 TIBC 35:22 times 52:4, 8; 54:4 tip 77:8 Tirgan 25:19 tissue 59:20 title 37:10 token 53:6 tongue 77:8 total 12:1 touched 70:3, 8 towards 73:19 toxicity 6:4 tract 28:25; 46:6; 47:15 training 3:23; 4:9; 38:5, 9 transcribed 83:14 transcript 3:19 transcription 83:15 translate 81:17 transplant 67:8, 21; 68:17; 69:14; 75:21, 23; 76:5, 11; 78:3, 7, 21; 82:15 transplants 69:7	reated 14:14; 38:15; 54:14; 30:18; 74:7 reatment 25:16; 36:10; 38:24; 39:1; 64:9; 69:6; 76:10 remendously 57:2 rial 47:18; 48:1, 11; 69:20, 24; 10:10 rue 39:19; 50:7; 58:8; 60:17; 13:15 ruth 81:19; 83:9, 10 uesday 1:19 umors 57:9 twice 69:17 wice 72:23 wo-patient 77:17 Tyler 25:19; 27:9; 35:4; 38:8; 14:25 ype 40:12; 42:14; 53:22; 30:13; 64:25 ypes 58:6 ypical 37:20; 40:18, 20; 42:3; 35:15 ypically 40:22
-- U --			
Jh-huh 11:25; 68:24 Jh-uh 39:11 Jltimate 67:7, 14 Jltimately 34:4 Jncertain 57:11 Jncomfortable 58:12, 15 Jncommonly 37:23 Jnderstand 35:11; 42:25; 39:18; 73:15; 79:19 Jnderstandable 51:12 Jnderstood 62:23 Jnexplained 63:8 Jnexplained 55:25 Jnique 81:21, 22 Jniversities 4:3 Jnknown 55:21; 63:7 Jnlikely 52:3 Jnlikely 52:3 Jnusual 13:14; 27:18; 28:15; 29:1; 32:19, 24; 35:10, 11; 40:11; 42:17; 43:4; 54:24; 55:5, 11, 18; 57:24; 71:8, 11, 22; 72:20; 73:15; 74:8; 75:6 up-to-date 3:16 upper 28:20, 25; 38:12 usual 59:15 utilize 68:4			
-- V --			
varies 57:2 varieties 71:18 vast 75:2 versus 8:23; 15:10, 21; 18:1 view 44:8, 12 visits 34:1 volume 59:19 vs 1:6			

<p>-- W --</p>	<p>year 4:22; 20:10, 12; 66:11, 13 year's 36:14 years 4:19; 5:2, 24; 7:12; 36:9; 40:19; 66:10, 12; 71:14; 78:2, 16, 17, 22; 79:7; 80:21 You'd 21:4 You'll 27:13 you'll 80:10 You've 8:24 you've 7:10; 16:16, 25; 21:15; 22:2, 5; 23:9; 26:1, 12; 55:4; 71:9; 76:24 young 68:3 younger 71:19 yours 14:11; 26:8 yourself 3:15</p>
<p>wait 48:12 wane 40:18 waned 59:23; 60:3, 4 wanted 45:6 wax 40:18 waxes 59:23; 60:3 We'll 3:18; 24:10 We're 57:12 we're 8:22, 25 We've 3:13; 21:14; 23:4; 26:7 we've 59:22 weakness 28:6 weeks 38:20; 48:14; 63:11 weight 59:13; 63:8, 9 weird 18:19 weren't 82:3 West 1:20 What's 15:23; 24:5; 33:9; 42:6; 45:21; 54:22; 76:23 what's 39:15; 57:18, 24; 77:3 WHEREOF 84:2 who's 71:14 Williams 24:7, 9; 33:13; 37:5, 8 Wintrobe's 37:7 wire 28:5 WITNESS 11:16; 12:5, 13; 15:18; 18:10, 12; 31:9; 49:1, 16; 51:8; 52:17; 56:12; 62:4; 71:3; 72:24; 77:21; 78:1; 81:1; 84:2 witness 1:13; 8:25; 10:15, 19, 24; 83:7, 12, 13, 17 woman 71:13 Won't 47:24 won't 67:3 Word 26:23 word 45:13; 77:3 work 6:25; 19:22; 28:7; 32:2; 44:15; 45:21; 46:14; 48:16, 19; 49:11; 51:1; 65:24; 66:1; 80:23 working 13:13; 27:3; 30:25; 32:1; 47:10 works 5:21 worry 75:16 worse 61:8; 63:13 worth 71:6 wouldn't 3:22; 30:2, 15; 48:21; 49:20; 50:18; 74:10; 80:14; 82:11 write 28:19 writing 26:12 written 43:23; 44:7; 57:7 wrong 35:8, 9; 46:23 wrongful 11:2</p>	<p>-- Z --</p> <p>Zanesville 12:16, 19, 25; 13:6 zero 31:15</p>
<p>-- X --</p>	
<p>X-ray 58:20, 22, 24; 59:2 X-rays 64:15</p>	
<p>-- Y --</p>	
<p>Yang 25:19</p>	