

1 The State of Ohio,)
2) SS:
3 County of Cuyahoga.)

DOC. 356

4 IN THE COURT OF COMMON PLEAS

5 Lester Weitzel, Administrator, etc.,)
6)
7 Plaintiff,)
8)
9 vs.) No. 226946
10)
11 St. Vincent Charity Hospital, et al.,)
12)
13 Defendants.)

14 - - - - -

15 Deposition of HOWARD C. PITLUK, M.D.,
16 called by Defendants St. Vincent Charity Hospital,
17 St. Vincent Charity Hospital and Health Center,
18 Dr. Jayne, Dr. Mohlay, Dr. Onyekwere and Dr. Mayha,
19 taken as if upon cross-examination, before Marie L.
20 Larbig, a Notary Public within and for the State of
21 Ohio, at the offices of William J. Coyne Co., L.P.A.,
22 1240 Standard Building, Cleveland, Ohio, on Friday,
23 March 19, 1993, pursuant to notice.
24
25

- - - - -

I N D E X

WITNESS:

Howard C. Pitluk, M.D.

Cross by Mr. Coyne, 3, 135

Cross by Ms Moore, 38

Cross by Mr. Warner, 60, 148

Cross by Ms Bittance, 62, 139^{and}

Cross by Mr. Jackson, 80

Cross by Mr. Seibel, 114

- - - -

1 APPEARANCES:

2 For the Plaintiff:

3 Charles Kampinski, Esquire

4 Charles Kampinski Co., L.P.A.

5 1530 Standard Building

6 Cleveland, Ohio - 44113

7 For Defendant St. Vincent Charity Hospital:

8 William J. Coyne, Esquire

9 William J. Coyne & Associates

10 1230 Standard Building

11 Cleveland, Ohio - 44113

12 For Defendant Cleveland Clinic Foundation:

13 Mary M. Bittance, Esquire

14 Baker & Hostetler

15 3200 National City Center

16 Cleveland, Ohio - 44114

17 For Defendant Prem Varma, M.A.:

18 Lynn L. Moore, Esquire

19 Gallagher, Sharp, Fulton & Norman

20 Sixth Floor, Bulkley Building

21 1501 Euclid Avenue

22 Cleveland, Ohio - 44115

1 APPEARANCES Continued:

2 For Defendant G. A. Moasis, M.D.:

3 Robert C. Seibel, Esquire and

4 Curtis Bailey, Esquire

5 Jacobson, Maynard, Tuschman & Kalur Co., L.P.A.

6 1001 Lakeside Avenue, Suite 1600

7 Cleveland, Ohio - 44114

8 For Defendant Robert J. Steele, J.D.:

9 John V. Jackson, II

10 Jacobson, Maynard, Tuschman & Kalur Co., L.P.A.

11 1001 Lakeside Avenue, Suite 1600

12 Cleveland, Ohio - 44114

13 For Defendant Central Radiology Consultants:

14 Robert D. Warner, Esquire

15 Reminger & Reminger Co., L.P.A.

16 The 113 Building

17 Cleveland, Ohio - 44114

18 For Defendant Prem Varma, M.D.:

19 Sanjay K. Varma, Esquire and

20 Fred Carmen, Esquire

21 Chattman, Sutula, Friedlander & Paul

22 6200 Rockside Road

23 Cleveland, Ohio - 44131

1 HOWARD C. PITLUK, M.D., of lawful age,
2 called as a witness on behalf of Defendants
3 St. Vincent Charity Hospital, St. Vincent
4 Charity Hospital and Health Center, Dr. Jayne,
5 Dr. Mohlay, Dr. Onyekwere and Dr. Mayha for
6 the purpose of cross-examination, as provided
7 by the Ohio Rules of Civil Procedure, being by
8 me first duly sworn, as hereinafter certified,
9 was examined and testified as follows:

10 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

11 BY MR. COYNE:

12 Q Now, Doctor, I am going to ask you some questions.
13 If I ask you a question you do not understand or
14 that might tend to confuse you, bring it to my
15 attention. I will try to clarify the question for
16 you.

17 Will you do that, Doctor?

18 A Yes.

19 Q You understand that everything you say here is
20 under oath?

21 A Yes.

22 Q In front of you is the original hospital chart
23 from St. Vincent Charity Hospital. In the event
24 you need to refresh your recollection by looking
25 at that chart, please feel free to do so.

1 You may also review any notes or anything
2 you may have incurred at the time of your practice

3 Would you state your complete name for the
4 record?

5 A Howard Charles Pitluk.

6 Q And what is your resident address?

7 A 60 Basswood Lane, Cleveland, Ohio.

8 Q And what is your professional address?

9 A 6801 Mayfield Road, Cleveland, Ohio.

10 Q And do you have more than one office address?

11 A Presently, no.

12 Q Are you a member of any group?

13 A Yes, I am.

14 Q And I am talking about professional association.

15 What's the name of your group?

16 A Sampliner and Pitluk, M.D., Incorporated.

17 Q And that's spelled?

18 A S-a-m-p-l-i-n-e-r.

19 Q And you are a physician licensed to practice medi-
20 cine by the State of Ohio?

21 A Correct.

22 Q And what is your area of specialty?

23 A General surgery and vascular surgery.

24 Q And you are on the staffs of what hospitals?

25 A I'm on the staffs of Meridia Hillcrest Hospital,

1 the Deaconess Hospital of Cleveland, Marymount
2 Hospital, Mt. Sinai Medical Center, and I have
3 a teaching appointment through the Case Western
4 Reserve University School of Medicine at the
5 Veterans Administration Hospital.

6 Q And how many, is there a certain number of hours
7 per week that you involve yourself in the
8 teaching position?

9 A Usually it's one day a week; on Mondays.

10 Q Is that while you are working at the VA Hospital?

11 A That's the reason I am at the VA Hospital, to
12 teach.

13 Q And what are you teaching there?

14 A Vascular surgery.

15 Q I take it Dr. Sampliner is a vascular surgeon,
16 is he?

17 A He is a general surgeon also, like myself, correct

18 Q Does he have a subspecialty in vascular?

19 A No, just general surgery.

20 Q Doctor, when did you first become aware of the
21 Weitzel Case?

22 A Approximately the time that I dated the letter.
23 Within that month I would imagine.

24 O The letter that you wrote to Mr. Kampinski is
25 dated November 30, 1992. So it was around

November when you first became aware of the case,
is that correct?

A I believe that's right.

Q And who made contact with you initially concerning
that matter?

A Mr. Kampinski.

Q Okay. Have you ever reviewed any case before
or done any work before for Mr. Kampinski?

A No, I haven't.

Q You have never testified in any respect, be it
deposition or an expert in a trial or any other
case that Mr. Kampinski held?

A No.

Q Tried?

A No.

Q I take it you are not related to Mr. Kampinski
or Mr. Elk?

A Not that I'm aware of.

Q And are you a friend socially of either Mr.
Kampinski or Mr. Elk or any member of Mr.
Kampinski's firm?

A No.

MR. KAMPINSKI: I'm going to object.

Do you want to ask him if he's friends with
everybody in the room or --

1 MR. COYNE: Well, I can ask him.
2 Q Are you friends, you know, socially with anybody
3 in this room?

4 MR. KAMPINSKI: Or any members of
5 their firms.

6 MR. COYNE: I'll just ask the
7 question.

8 A I occasionally socialize with Mr. Kampinski at
9 the Country Club we belong to. I have been out
10 with Mr. Elk and his wife. We live in the same
11 neighborhood. On one or two occasions.

12 And I also have relationships, social
13 relationships with other lawyers who are members
14 of some of the firms represented here.

15 Q Just to clarify, have you been out to dinner with
16 any of the other lawyers that are here?

17 A I don't think -- no, I guess not.

18 Q Your first contact with Mr. Kampinski regarding
19 this case, was that by letter, phone call or how
20 did it come about?

21 A I believe it was a phone call explaining some of
22 the particulars of the case.

23 Q And were you provided with certain materials to
24 review to reach your professional opinions?

25 A Yes.

1 Q And what did you review?

2 A As I stated in my letter, basically those are the
3 materials I reviewed to form any opinions.

4 Q And were those documents delivered to you at your
5 office or your home?

6 A No; to my office.

7 Q How much time did you spend in reviewing those
8 documents prior to reaching your professional
9 opinions?

10 A Oh, probably in the neighborhood of eight to ten
11 hours.

12 Q In your particular practice do you treat many
13 patients that have been involved with cardiac
14 arrests?

15 A Yes.

16 I mean, I don't necessarily treat them, but
17 I have occasion to come into contact with them
18 in acute settings.

19 Q What percent of your practice would you say is
20 involved with patients who have been involved in
21 cardiac arrest incidents?

22 A Well, it's not a percentage. It's just the
23 incidents that have happened where patients have
24 cardiac arrests when I am present, so it's a
25 very small percentage, thank goodness.

1 Q In regard to Mrs. Weitzel, I take it on your
2 review of the case, which you indicated you
3 reviewed the Samaritan Hospital records, you
4 learned that she had suffered a cardiac arrest on
5 February 11, 1991 while at work, correct?

6 A
7 at
8 work.

9 Q Or at the Samaritan Hospital?

10 A Right.

11 The arrest didn't happen at Samaritan
12 Hospital, so we simply assume that's what
13 happened to her, that she had a cardiac arrest
14 while at work.

15 Q Is there any question in your mind that she
16 suffered a cardiac arrest while at work?

17 A I have no reason to question it. I don't have
18 any information that she had a cardiac arrest
19 versus an arrhythmia versus a seizure.

20 They say it was a cardiac arrest and I
21 have to assume that's what it was.

22 Q Based on your review, were there any fellow
23 workers or anybody in the area following her
24 arrest at work that were able to render any
25 assistance to her before the EMS squad got there?

1 A I'm not aware of that.

2 Q After she was received at the Ashland Samaritan
3 Hospital she was resuscitated a number of times;
4 is that true?

5 A What do you mean, resuscitated a number of times?

6 Q Well, she was resuscitated at the hospital, put
7 on a ventilator, was she?

8 A She was put on a ventilator, right.

9 Q And did they also have to defibrillate her a
10 number of times while she was at the --

11 A She was defibrillated.

12 Q Did you note how many times she was defibrillated
13 while she was at Samaritan Hospital?

14 A I don't recall.

15 Q Was it in the area of fifteen to seventeen times?

16 A I don't know. I have no recollection.

17 Q Now what condition calls for fibrillation?

18 A Fibrillation.

19 Q Yes.

20 A That's the condition, fibrillation. Ventricular
21 fibrillation or atrial fibrillation.

22 Q Okay. What happened in this case?

23 A Well, I would assume, ventricular fibrillation.

24 Q And what does that cause in the system of an
25 individual? Breathing?

1 A No. It's an inefficient contraction of the
2 ventricles of the heart.

3 Q Does the heart stop pumping at that point?

4 A It pumps, but inefficiently.

5 Q And what are the hazards or risks of a heart that
6 is not pumping sufficiently on its own?

7 A Death.

8 Q That would be the ultimate risk, correct?

9 A Yes, the ultimate bad risk.

10 Q And have you treated patients who have been
11 defibrillated more than twelve times within
12 thirty-six hours that have survived?

13 A I have no idea.

14 I don't count the number of times people are
15 defibrillated. I don't know what that means,
16 twelve times.

17 Q Twelve separate acts of defibrillation.

18 A You mean they come back twelve different times,
19 the patient?

20 Q Yes.

21 A I am not a cardiologist. I am not in rooms when
22 patients are arrested for the most part.

23 Q Do you carry or have any opinion relative to
24 whether or not a patient being defibrillated, say,
25 fifteen to seventeen times, whether that would

1 lead to any permanent damage on the heart itself?

2 A I have no way of knowing.

3 Q In your review of the records you learned I'm

4 sure that the patient was life-flighted from

5 Samaritan Hospital then to Charity Hospital in

6 Cleveland, correct?

7 A Yes.

8 Q And during that life-flight the patient was still

9 intubated all the way?

10 A That's my understanding, yes.

11 Q And following the patient's arrival now at

12 Charity Hospital on February 12, 1991, based on

13 your review of the records and expertise have

14 you reached any opinion as to the patient's

15 prognosis for survivability on, say, February 12

16 when she arrived at Charity Hospital?

17 A Yes.

18 Q And what was that?

19 A I believe she would survive and she should

20 continue to survive.

21 Q And as she arrived at the hospital you believe

22 that she had a better than fifty percent chance

23 of survival?

24 A Yes.

25 Q Better than eighty percent chance of survival?

1 MR. KAMPINSKI: Objection.

2 What's the difference?

3 Do you want to ask him about fifty-one,
4 two, three, four, five?

5 MR. COYNE: I just want to ask
6 about eighty percent.

7 MR. KAMPINSKI: Why?

8 MR. COYNE: Background information
9 General information. I think it's relevant
10 material.

11 MR. KAMPINSKI: Why?

12 MR. COYNE: When you're deposing
13 people, you ask all these people --

14 MR. KAMPINSKI: All right. Look, I
15 don't want to argue with you.

16 A I don't know.

17 Q After she arrived at the hospital on the 12th
18 did she have to be defibrillated again?

19 A I don't believe she did, but I am not sure.

20 Perhaps you could refer me to the area in
21 the record.

22 Q You have the whole records in front of you. If
23 you want to look at any part of them, you may.

24 A I don't recall. If you want me to look through
25 them, I will.

1 Q Is defibrillation one of your areas of expertise?

2 A Defibrillation is not an area of expertise.

3 Do I know how to defibrillate, yes.

4 Q The record I believe indicates that after she
5 was transported to Charity Hospital, shortly
6 after she had to be defibrillated with a maximum
7 400 jewels.

8 What does that indicate to you medically,
9 if anything?

10 A Standard fibrillation technique.

11 Q All right. She was in a life-threatening condition
12 at that time, correct?

13 A Any fibrillation is life-threatening I think, yes.

14 Q Are you familiar with the survival rate for out-
15 of-hospital ventricular fibrillation arrest?

16 A Not offhand, no.

17 Q Do you yourself as an expert in this case have
18 any medical opinions regarding the survival rate
19 for an out-of-hospital ventricular fibrillation
20 arrest patient such as Sharon Weitzel?

21 A I'm not sure I understood the question

22 Do people survive? Yes.

23 Rate of survival? I don't know.

24 Q Have you based on your review of the records in
25 this case and your professional expertise arrived

1 at any opinion whether or not Mrs. Weitzel
2 suffered any anoxic brain damage prior to
3 February 26th of 1991?

4 A Prior to February 26th?

5 Yes.

6 Q And what is your opinion?

7 A She did not have any significant anoxic brain
8 damage.

9 Q That's your opinion?

10 A Yes.

11 Q When you say she didn't have any significant
12 anoxic brain damage, did she have any anoxic
13 brain damage?

14 A I can't say for sure.

15 She was paralyzed and sedated for the
16 majority of the time she was -- and prior to the
17 time actually she was in the hospital until her
18 death, so it would be very difficult to ascertain
19 whether or not she had any brain damage from
20 anoxic cephalopathy.

21 Q She was actually on a ventilator from the time
22 she was admitted to Ashland Samaritan until the
23 time she died, correct?

24 A That's true.

25 Q And the need for a ventilator is what?

1 A Assisting in breathing.

2 Q On her own she couldn't breathe, correct?

3 A Well, that's not true, no.

4 She could breathe on her own and did breathe
5 on her own, but the reason she was paralyzed and
6 sedated was because most people, including Mrs.
7 Weitzel, who are awake and not anoxic and
8 cephalopathic cannot tolerate a tube down their
9 throat, they would choke on it, just as you would
10 choke on it. So in order to tolerate that, she
11 was sedated.

12 Q But was there any time -- you indicated she was
13 breathing on her own and maybe I misunderstood
14 you -- was there any time from the time she was
15 admitted to Ashland Samaritan Hospital until she
16 passed away that she was not on a ventilator that
17 she was able to breathe on her own?

18 A You don't understand the term. Breathing on your
19 own doesn't mean that you can't be on a ventilator.
20 It's called "Assist Control". The ventilator
21 assists your breathing. You still initiate your
22 own breathing, breathing on your own. She was
23 doing it, breathing on her own with the assistance
24 of a ventilator, but it became, for her, too much
25 of a difficult situation, so they sedated her.

1 Q I understand she was sedated.

2 A That's the reason they sedated her. She was trying
3 to do it on her own. They didn't feel that she
4 could effectively do it without the breathing tube
5 and the ventilator for assistance, and so they
6 sedated her. As opposed to her breathing on her
7 own, she was assisted by the machine.

8 Q Do you have an opinion as to whether or not she
9 could have survived without the assistance of a
10 ventilator?

11 MR. KAMPINSKI: Do you mean while she
12 was in the hospital?

13 Q While she was in the hospital.

14 A Yes.

15 Q What is your opinion?

16 A My opinion is that towards the very end, according
17 to your medical records and notes and nurses'
18 notes, they wanted to wean her off the ventilator.
19 In other words, the doctors that were caring for
20 her felt she could survive on her own, without
21 a ventilator.

22 Q Sometime in the future?

23 A Yes. Getting towards that, right before her
24 demise.

25 Q Okay. All I want to know is, do you have an

1 opinion as to whether or not she could have been
2 taken off the ventilator at any time between the
3 time she was admitted to the hospital on February
4 11th and, say, March 15th and survived without
5 a ventilator? At any time during that --

6 A No, I don't have an opinion.

7 I mean, that's the doctor's decision.

8 Q How was she being fed during this period of time?

9 A She was getting intravenous feeding.

10 Q So she couldn't be fed in a conventional by mouth
11 method, she had to have an I.V. feeding?

12 A Yes. Her G.I. tract was working. I think she
13 had a tube feed also.

14 Q On February 28th she had a tracheostomy. That
15 was also for purposes of assisting in her breathing,
16 correct?

17 A Correct.

18 Q Are there any hazards relative to the pulmonary
19 function of an individual who is on a ventilator
20 for, say, four weeks' period of time?

21 A The major hazard -- there are hazards to answer
22 your question.

23 Q And what are those?

24 A A major hazard would be infection, because you
25 are having to enter a foreign body into the

1 tracheotomy for the bronchial tube, you could
2 create positive pressure problems by, when you
3 are on a ventilator, you're actually forcing the
4 air into the lungs, and if that pressure becomes
5 too great, you can cause a collapse of the lung,
6 blow it up and causing it to burst. Those are
7 your primary problems that affect the pneumo-
8 thorax, with the lungs we are talking about.

9 Q Right.

10 A The other thing that you have, a problem with the
11 actual trachea, mechanical problems when you have
12 a tube in the trachea. You can cause mechanical
13 erosion, fistula, tracheoesophageal.

14 Q Is that one of the reasons they do the
15 tracheostomy?

16 A That's one of the reasons. But the tracheostomy
17 also has a tube with a balloon on it and it can
18 cause some problems.

19 Q Now you learned during your review of the records
20 in this case that the patient was also suffering
21 from adult respiratory distress syndrome, ARDS
22 as you call it, is that correct, Doctor?

23 A At some point during hospital admission.

24 Q All right. Did you arrive at an opinion as to
25 when that was first diagnosed?

1 A I don't recall. It was reasonably early in the
2 hospitalization she had there.

3 Q Prior to February 26th of 1991, correct?

4 A Yes.

5 Q And are you familiar with the survival rates for
6 patients that have adult respiratory distress
7 syndrome?

8 A Yes.

9 Q And what are those survival rates?

10 A It depends on the etiology of the syndrome.

11 Q With a patient such as Mrs. Weitzel?

12 A She had greater than fifty percent survival I
13 think.

14 Q Do you have any authoratative sources that back
15 up your opinion if you do?

16 A I don't recall any offhand.

17 I am sure there are articles of literature
18 that would talk to and speak to that.

19 Q Have you reviewed any prior to reaching your
20 opinion in this case?

21 A Not specifically for this case.

22 Q If prior to the trial you do review any authorata-
23 tive sources that support that opinion, would you
24 give them to Mr. Kampinski?

25 A Yes.

1 MR. COYNE: And I'll ask Counsel
2 to forward them to us, if there is any
3 such generated prior to trial.

4 MR. KAMPINSKI: Sure.

5 Q Based on your review of the records in this case
6 did this patient also have multiple organ failure?

7 A I'm not aware of that, no.

8 Q What is an organ failure?

9 A Failure of an organ to function properly.

10 I assume that's what you mean.

11 Q Would ARDS be an organ failure?

12 A No.

13 Q Would cardiac arrest be an organ failure?

14 A For the time that the -- the heart is in arrest,
15 that's a failure.

16 After it's not in arrest anymore, you're
17 either alive or you're not. When you're dead,
18 that's the ultimate failure.

19 Q So it's your professional opinion that in this
20 case Mrs. Weitzel did not have multiple organ
21 failure then?

22 A Correct.

23 Q Regarding the discovery of the two wires in
24 Mrs. Weitzel, I believe that's noted in the chart
25 on March 8th of 1991, correct?

1 A Not exactly.

2 It was noted before that, when the radiologist
3 spoke of some strange foreign body in the patient
4 or on the patient, I am not sure, as early as
5 March 2nd.

6 Q Okay. I agree that's in the radiological report.

7 Other than radiology noting it in some of
8 the reports they generated, the first other note
9 in the chart is on March 8th of 1991, correct?

10 A By a physician.

11 Q By a physician, other than radiology.

12 A What's his name?

13 Q I think Dr. Varma became aware of it that day.

14 A Okay.

15 Q And I assume that we can agree that leaving two
16 wires in a patient such as this is substandard
17 care as far as the opinion you generated here,
18 correct?

19 A Oh, most definitely.

20 Q All right. Relative to the two wires that were
21 left in Mrs. Weitzel, have you reached any
22 opinion regarding what damage the wires themselves
23 did, if any, to her physical condition between
24 February 26th and prior to the surgery, say, of
25 March 14th?

1 In other words, I'm not including the
2 surgery of March 14th at this time.

3 MR. KAMPINSKI: I object to the
4 question because I don't understand it.

5 Are you saying what damage the wires did
6 in and of themselves as opposed to the
7 effect of leaving them in?

8 I just don't understand your question.

9 MR. COYNE: Okay.

10 Q My question to you, Doctor, and if you don't
11 understand, you can tell me, did the leaving of
12 the wires in Mrs. Weitzel, say, between February
13 26th and March 13th, did they cause any
14 additional injury to her person, systems or
15 anything else?

16 A The wires themselves you said.

17 Q That's right.

18 A I really don't know.

19 She had some positive blood cultures,
20 whether it was because of the wires is difficult
21 to say, so I can't really answer the question
22 specifically.

23 I don't think that was the issue here, in
24 my opinion.

25 There is a possibility that the wires in and

1 of themselves did cause some damage to her.

2 MR. WARNER: Object; move to
3 strike. Possibility.

4 MR. COYNE: All right.

5 Q I'll ask you if you have any opinion to a
6 reasonable degree of medical probability that
7 the wires in and of themselves caused any injury
8 to Mrs. Weitzel between February 26th and March
9 13th.

10 A No.

11 Q No? Now on March 13th --

12 MR. JACKSON: Is his answer no,
13 he doesn't have an opinion or no, it didn't
14 cause any injury.

15 A No, I don't have an opinion.

16 Q On March 13th, Doctor, you are familiar that
17 Dr. Steele went in and did a removal of one of
18 the wires, correct?

19 A Yes. He didn't go in, he did a transcutaneous
20 method.

21 Q Is it called percutaneous?

22 A Same --

23 Q Same thing?

24 A Same level.

25 Q Okay. I take it you don't have any criticism of

1 Dr Steele removing the one wire in the percutane-
2 ous method on March 13th?

3 A No.

4 Q I would assume further that you don't have any
5 criticism of the fact that the wires at some
6 time had to come out?

7 A Correct.

8 Q Okay. So I suppose medically one of the decisions
9 that had to be made with this patient by the
10 attending physician is when they should be
11 removed, correct?

12 A I think that is fair to say, yes.

13 Q There was another issue I think raised in your
14 report concerning a right-sided pneumothorax that
15 developed on March the 8th, or was discovered at
16 least on March the 8th of 1991, correct?

17 A I think it was created on March the 8th of 1991.

18 Q Created.

19 A Discovered, I think it was discovered the day it
20 happened.

21 Q March 8th.

22 And do you have an opinion to a reasonable
23 degree of medical probability as to the cause of
24 that right-sided pneumothorax?

25 A Yes, I do.

1 Q And what caused that?

2 A The attempted introduction of the subclavian
3 catheter for the purpose of hemodynamic monitoring
4 by Dr. Varma.

5 Q And on what do you base that professional opinion?

6 A The records.

7 Q And how did it occur? How did he do that in
8 your professional opinion?

9 A He punctured the pleural lining around the lung
10 with a needle used to enter the major veins in
11 the upper chest, subclavian veins.

12 Q Is a pneumothorax such as Mrs. Weitzel had on the
13 right side of her, is that a risk in the procedure
14 of the introduction of a swan ganz, is that a
15 recognized risk?

16 A Yes.

17 Q In other words, that can happen to a physician
18 even of reasonable talent, training and expertise,
19 correct?

20 A Correct.

21 Q Can a pneumothorax such as the ones that Mrs.
22 Weitzel had, can they develop spontaneously, that
23 is, a patient that has ARDS, on a ventilator,
24 can pneumothoraxes develop spontaneously also?

25 A Yes.

1 Q And that's from the pressure of the ventilator
2 itself, coupled with the disease of the lungs,
3 is that correct?

4 A You don't have to couple it with anything. The
5 ventilator can cause it, the lungs can cause it.

6 Q All right. A pneumothorax I believe was on the
7 left side that was noted on March the 7th of 1991.

8 Have you reached any opinion as to the cause
9 of that particular pneumothorax?

10 A I don't recall.

11 Q Let me ask you, you don't recall the pneumothorax
12 that was discovered on March 7th or you don't
13 recall what caused it?

14 A I don't recall what caused it.

15 Q You did note that one was present on the 7th on
16 the left side though?

17 A I believe that's correct, yes.

18 Q Do you believe that the pneumothorax that --

19 A I would like to find -- since you are going to
20 keep asking me questions about a pneumothorax
21 I'd like to find, I'm not sure, the records from
22 March the 8th and the 7th -- is that what you say?

23 Q Yes.

24 (Witness examining records.)

25 Q Doctor, in fairness, you wanted to check the

1 records?

2 A Correct.

3 Q Is there anything that you wanted to say
4 regarding the pneumothorax questions after looking
5 at the record that we haven't covered?

6 A No, I think we have been pretty straightforward
7 with it.

8 Q Do you have an opinion to a reasonable degree of
9 medical probability as to whether or not patients
10 who survive ARDS and several weeks on a ventilator
11 usually have some pulmonary function impairment
12 thereafter?

13 A Yes, I do.

14 Q And what is that?

15 A For the most part they do not.

16 Q They do not?

17 A In my experience.

18 Q The decision to remove the wires, I take it that
19 would be the decision reached by the treating
20 physician, correct?

21 A Yes. The attending physician.

22 Q Right.

23 A Yes.

24 Q Now reviewing your report here that you provided
25 Mr. Kampinski on November 30th, I believe you

1 indicate that it's your professional opinion
2 that on March 14th Mrs. Weitzel was not a
3 candidate for invasive surgery.

4 A Correct.

5 Q And what is the basis for that particular opinion?

6 A The basis was her antecedent one-month medical
7 history, as well as the obvious outcome.

8 Q And that's because on March 14th she had ARDS is
9 one of the reasons?

10 A No. Well, that was not the major reason, no.
11 Actually the major reason was that she was just in
12 a perimycardial infarction period within the previ-
13 out 30 days, she was, as I pointed out, septic,
14 most likely, she had a positive blood culture two
15 days earlier of Pseudomonas, she had a decrease in
16 platelet count, she was becoming thromocytopenic
17 indicating marked risk for bleeding, she was febrile.
18 She was not a candidate to have a major operation.

19 Q Did you reach any opinion as to whether or not
20 Mrs. Weitzel -- well, first of all, you learned
21 from the autopsy that there was some internal
22 bleeding after the surgery, correct?

23 A At the arteriotomy site, yes.

24 Q Have you reached any opinion as to whether or not
25 she was closed by the physician? Was she bleeding

1 at that time or did the bleeding develop after
2 the surgery and after the closure?

3 A Closure of what, sir?

4 Q After the surgery where the wire was removed.

5 A Closure of the artery? Closure of the incision?
6 Closure of what?

7 Q Closure of the incision.

8 A Yes.

9 Q And what is your opinion?

10 A That it occurred after the closure of the incision

11 Q Okay. How long after? Do you have any opinion?

12 A Well, when she began becoming hypotensive is when
13 it's happening.

14 Q And what hour was that, if you recall?

15 A I don't recall. I can look it up.

16 It's within a few hours of the operation,
17 within two, three hours of the operation. She
18 remained hypotensive for a prolonged period,
19 I think it's twelve hours, before she died.

20 Q I think she went into cardiac arrest approximately
21 1:14 A.M. on the 15th.

22 A And the operation terminated --

23 MR. KAMPINSKI: 4:30.

24 THE WITNESS: Yes.

25 A So it was about ten hours.

1 Q Do you have an opinion as to whether or not the
2 surgery itself directly and proximately contri-
3 buted to cause her demise?

4 A Well, yes.

5 Q Okay. And what is that opinion?

6 A Yes, the surgery itself proximately caused her
7 demise.

8 Q Was the timing of the surgical removal -- strike
9 that.

10 Was the removal of the wires an elective
11 procedure?

12 A Yes.

13 Q Now you indicated earlier in your testimony that
14 there was notations in the radiological records
15 and reports that the wires had been observed by
16 the radiologist or one or more of the radiologists
17 as far back as March 1st of 1991, correct?

18 A March 1st or 2nd I think is what I said.

19 MR. KAMPINSKI: So it might have
20 been the 1st?

21 THE WITNESS: But I did say the 2nd.

22 Q You would not have recommended any surgical removal
23 of the wires between March 1st and March 14th
24 even if they were noted by the attending, say on
25 March 1st, would you?

1 A No, not an open surgical procedure. That's
2 correct.

3 Q I got you.

4 Have you seen any evidence or in your review
5 of this case that the delay in the removal of the
6 wires caused any additional injury or complica-
7 tions to the patient?

8 A I'm not aware of any, no.

9 Q Have you -- I don't know, I don't think it is in
10 your report, but I want to cover this -- have you
11 reached any opinion regarding the relationship
12 of the steroids that Mrs. Weitzel was on and
13 her infection? Have you reached any opinion on
14 that, as to whether the steroids caused infection
15 or are you going to render any at the time of
16 trial on this issue?

17 A I don't believe I will. I mean, I haven't been
18 asked that.

19 Q But you have no opinion one way or the other at
20 this point?

21 A No.

22 Q In your letter I observed some comments regarding
23 the cover-up of the wires by Dr. Varma and I'm
24 familiar with your theory on Dr. Varma; have
25 you reached any opinion as to whether or not

1 there were any other persons involved in any
2 cover-up of the wires, other than Dr. Varma I am
3 talking about.

4 A Yes.

5 Q And what is your opinion?

6 A Well, my opinion is that there was another
7 resident present with Dr. Varma, according to the
8 records. Whether she knew what was going on or
9 not, I don't think it's relevant, so to answer
10 your question, there may be somebody else who
11 knew about it, but I don't have an opinion as to
12 whether she covered it up or didn't.

13 Dr. Varma I felt did, and Dr. Varma was the
14 only person that was involved in it.

15 Q In your professional opinion to a reasonable
16 degree of medical probability, did the ARDS
17 condition that she had directly and proximately
18 contribute to cause her demise?

19 A No, I don't believe it did.

20 Q Did the cardiac arrest that she suffered on
21 February 11th of 1991, did that directly and
22 proximately contribute to cause her demise?

23 A I think it indirectly and proximately caused
24 her demise.

25 Is that possible, to indirectly cause it?

1 Q Well, I'll give you a chance to explain it.

2 Why don't you tell me.

3 A What I mean is that she had a heart that was
4 weakened by a cardiac arrest, myocardial infarction,
5 the fact that she underwent an operation in that
6 condition was an extremely risky procedure,
7 coupled with the fact that she then subsequently
8 bled, causing more strain on a diseased heart
9 led to her cardiac arrest and her death.

10 So it was all tied together.

11 Had she had a -- if it would be one of us
12 in this room who had a five hundred or so or a
13 thousand cc blood loss, it wouldn't bother our
14 hearts. But this woman had a compromised heart
15 already, and that's why she had the arrest.
16 So in that respect it did directly contributed to
17 indirectly contributed to her death.

18 Is that clear?

19 Q I understand.

20 I have one question because I'm not really
21 familiar with the term, and that was your comment
22 earlier on her developing thromocytopenia.

23 A Yes; decreased platelet count.

24 Q Okay. What is it, first of all?

25 A Decreased platelet count.

1 Q Okay. And what was causing that condition?
2 If you know.

3 A I would assume it was her sepsis that she had.
4 She was developing sepsis on the 14th.

5 Q And that was, I believe you said, another reason
6 why surgery should not have been attempted on
7 March 14th? Is that correct?

8 A That's correct.

9 Q Do you have any opinion as to what the cause was
10 or origin of the sepsis and the developing thrombo-
11 cytopenia? If you do.

12 A I don't really have an opinion.

13 I have an opinion, but I don't know if it's,
14 how accurate it is.

15 I can say that we know she had a positive
16 blood culture a few days earlier, the 10th I
17 believe they cultured *Pseudomonas* on the tip of
18 one of the catheters that were in her bloodstream.

19 So that in and of itself could have been the
20 source of -- she was on a ventilator, *Pseudomonas*
21 is one of the most common organisms that develop
22 an infection on ventilators.

23 So those are the -- but I can't tell you
24 which one it was or something else also.

25 Q Let me ask you this, Doctor.

1 If you have reached any such opinion, have
2 you reached any opinion to a reasonable degree
3 of medical certainty as to whether or not in
4 your opinion if the wires had not been left in
5 Mrs. Weitzel, would she have been able to return
6 to work following a course of treatment by her
7 attendants while she was at St. Vincent Charity
8 Hospital?

9 A Yes, I have.

10 Q What is your opinion?

11 A My opinion is that within a reasonable degree of
12 medical probability she would have been able to
13 resume her lifestyle eventually had the wires not
14 been left in her and she underwent the unfortunate
15 procedure she did that led to her death.

16 Q What type of work did she do?

17 A She worked in a factory. I think she was a press
18 operator, some sort of press or machine.

19 Q And on what do you base that opinion, that she
20 would have been able to return to work eventually?

21 A Based on my past experience and having practiced
22 surgery for, as man and boy, for about twenty
23 years, having had similar patients who had under-
24 gone similar problems with myocardial infarction
25 in a younger woman. I consider forty-seven years

1 old a very young woman, and the way these people
2 have responded to appropriate therapies by their
3 doctors and their ability to return to work.

4 Q Have you had other patients of approximately her
5 age who have undergone a myocardial infarction
6 such as her history of being defibrillated some
7 fifteen to seventeen times, plus adult respiratory
8 distress syndrome, plus being on a ventilator for
9 over four weeks, plus having a history of
10 Pseudomonas, pneumonia and sepsis that have
11 returned to work?

12 A Yes.

13 Q You have?

14 A I don't know about fibrillation fifteen times.

15 Your question though is critically ill
16 patients on ventilators for long periods of
17 time, a month, two months, myocardial infarction,
18 yes, the answer is yes. And you say "How many?".
19 I would say anywhere in the neighborhood of --
20 thank goodness, most young women don't have this
21 problem, but I had three or four that I can think
22 of.

23 I can think of three offhand right now.

24 I am sure there are one or two more.

25 Q Have you lost some patients having similar

1 historical background?

2 A There's been one or two that died I'm sure.

3 Again, as I say, it's not common for forty-
4 seven-year-old women to come in with this kind
5 of problem.

6 MR. COYNE: I have no further
7 questions.

8 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

9 BY MS MOORE:

10 Q Doctor, my name is Lynn Moore, and I represent
11 Dr. Varma.

12 A What firm are you with?

13 Q Gallagher, Sharp, Fulton & Norman.

14 Doctor, have you, yourself, inserted guide
15 wires?

16 A Many times.

17 Q And what types have you inserted?

18 A Perhaps you could explain the question.

19 Q There are various types of guide wires, correct?

20 A What do you mean by 'types'? Lengths? Glide
21 wires? Side wires? Lubrication? Arterial?
22 Venous? Angio-access?

23 The answer to your question is all of the
24 above.

25 Q Have you given for us the various types that you,

1 yourself, have inserted?

2 A Partial list.

3 Q What are the others, if there are others.

4 A Yes, there are others. Sure.

5 Do you want me to make a list of them?

6 Q No, just -- you've given us some.

7 A There's central venous pressure guide wires for
8 insertion of CVP's. There are guide wires for
9 insertion of swan ganz catheters. There are
10 guide wires for the insertion of broviac catheters.
11 There are guide wires for the insertion of hitley
12 catheters. There are guide wires for the insertion
13 of portocap catheters. There are guide wires for
14 the insertion of pacemaker arterial lines. There
15 are guide wires for the insertion of aortic balloon
16 pumps. There are guide wires for the insertion of
17 angio catheters for the purpose of angiography.
18 There are guide wires for the insertion of balloons
19 for the purpose of angioplasties.

20 Do you want me to continue?

21 The point is, there are a million guide
22 wires and I've put in, I'd say, ninety percent
23 of those kinds.

24 Q Thank you.

25 A You're welcome.

1 Q And that's been over the course of your practice
2 which you said was over twenty years, correct?

3 A Yes; residency, medical school and pursuing a
4 practice, that is correct.

5 Q And how many years would that cover, about ten?

6 A About twenty, maybe a little more.

7 Q And currently in your practice do you have occasion
8 to insert various guide wires?

9 A Yes.

10 Q And how many would you say you insert a year?

11 A A hundred.

12 Q A hundred.

13 Now who inserts the guidewires at the
14 hospitals where you practice?

15 A Well, obviously I do.

16 Q You.

17 A Doctors, surgeons, sometimes internists,
18 cardiologists primarily, intensivists whether
19 they be pulmonologists, cardiologists, surgeons.

20 Let's see who else.

21 Those would be the major ones.

22 Q At the hospitals where you practice do residents
23 have occasion to insert guide wires?

24 A Yes.

25 Q Okay. Do you permit residents to insert guide

1 wires on your patients?

2 A In supervised conditions if I felt it necessary,
3 yes.

4 Q You say 'supervised conditions'; how would you
5 define that?

6 A I feel that an attending surgeon needs to be
7 present. That's how I define -- if I don't know
8 the person has the appropriate expertise, then
9 I would make sure that somebody who does is
10 present.

11 If I feel that the person has the appropriate
12 expertise, then I would allow them to do it on
13 their own.

14 Q How would an attending surgeon determine if the
15 resident has the proper expertise?

16 A If they've done appropriate numbers and observed
17 them as appropriate numbers, they are designated
18 as being qualified.

19 Q Would you say it would be necessary for that
20 attending surgeon to check records or speak with
21 the resident, or how would you ascertain that
22 information?

23 A I think you ascertain it by all of the above as
24 you mentioned, as well as by direct observation.

25 Q Do you also permit residents to insert swan ganz

1 catheters under the conditions that you
2 enumerated for us?

3 A Yes.

4 Q And that would also include arterial lines?

5 A Correct.

6 Q Do you feel in the present case in 1991 it was
7 in keeping with the standard of care to have a
8 junior resident like Dr. Varma insert a guide
9 wire while unsupervised?

10 A I can answer that.

11 Yes, I feel it's appropriate; no, not like
12 Dr. Varma.

13 Q Okay. And why do you say that?

14 A Dr. Varma obviously didn't know what he was doing.
15 And from the record that I saw, he didn't have
16 the appropriate experience to know what he was
17 doing.

18 Q And what records are you referring to?

19 A I am referring to his log of procedures.

20 Q You have reviewed the log?

21 A Since I've written my report, yes.

22 Q And why do you feel that was inadequate experience?

23 A One procedure is not an adequate experience, plus
24 there is no indication that he had observation
25 supervision during that one experience approximately

1 one month prior to him perpetrating this on
2 Mrs. Weitzel.

3 MS BITTENCE: For the record, I
4 would like to object and move to strike.

6 MR. KAMPINSKI: For the record,
6 you've received the affidavit that the doctor
7 submitted.

8 MS BITTENCE: It doesn't go into
9 training on that.

10 Q Were there other reasons for that opinion, Doctor?

11 A I am sorry?

12 Q Other than the log, the opinion that you said
13 that it wasn't appropriate for Dr. Varma as a
14 junior resident to be inserting a guide wire
15 while he was unsupervised.

16 A Dr. Varma specifically, I don't care if he was
17 a senior resident or a junior resident, yes,
18 I think the actual occurrence that occurred were
19 the reason, the fact that multiple wires were
20 left in this patient without anybody being told
21 about it.

22 Q What should have been done with Dr. Varma
23 specifically in these circumstances? Who should
24 have supervised him or what should have been
25

1 done before he was permitted to insert the
2 arterial line or the swan ganz line?

3 A Well, I think the general question is, what is
4 the responsibility of whoever is supervising Dr.
5 Varma. And it seems to me that the training
6 program has a responsibility to making sure
7 their residents are adequately trained.

8 MR. KAMPINSKI: I apologize for
9 interjecting. Just so there is no confusion
10 with respect to the previous objection that
11 was made

12 The logs were provided by Mr. Fulton
13 and Lynn Moore in response to requests that
14 were made by me on January 14, 1993, so
15 obviously the doctor did not have these
16 available at the time he wrote his report.

17 I'm sorry.

18 A The training program in general would have that
19 responsibility and I know there are appropriate
20 guidelines set forth for medical residents
21 insofar as certain medical procedures are con-
22 cerned to make sure they have adequate training
23 and adequate experience in doing these procedures
24 And that's a general responsibility.

25 In particular I also feel that if a

1 resident is on somebody's service as a private
2 patient under your service, you should make sure
3 you know what your residents are capable of before
4 you let them do procedures on them.

5 And as a surgeon, training residents, I've
6 done so for many, many years, and I make sure that
7 no resident touches a patient unless I'm present
8 or I have absolute confidence in that resident,
9 having observed him doing the procedure that I
10 am going to do.

11 Q So you would say it would be necessary for the
12 attending physician to actually observe the
13 resident do the procedure?

14 A If he doesn't have any knowledge of this resident's
15 capabilities, yes.

16 Q I think you enumerated the various specialists
17 that have the right to put in lines and you went
18 into the various specialists; and that would be
19 true in 1991 when this occurred, would it not?

20 A Yes.

21 Q And would that be true both for the arterial
22 line and the swan ganz type of line?

23 A Yes.

24 I forgot to mention anesthesiologists put
25 in the lines.

1 The list wasn't complete, but that's sort
2 of a glaring omission because they put in lines
3 a lot.

4 Q And that would include pulmonologists, correct?

5 A Yes.

6 Q And would it include radiologists?

7 A Yes.

8 Q And would it include vascular surgeons?

9 A Yes. I mentioned it.

10 Q And that would include a cardiologist?

11 A Yes. I mentioned them too.

12 Q Okay. Do attending physicians have any responsi-
13 bilities for the conduct of residents, the way
14 they treat their patients?

15 A Yes.

16 Q What is that responsibility?

17 A The attending ultimately is responsible for the
18 people that work underneath them, whether they
19 are residents or interns or medical students.

20 He ultimately is going to be the one responsible
21 I feel.

22 The attending physicians generally have the right
23 to control the conduct of the residents who treat
24 their patients; would you agree with that?

25 A I don't know what you mean by 'control the conduct'.

1 Q I think you mentioned before that they have the
2 right to observe the resident do the procedure
3 to satisfy themselves to the resident's
4 competence?

5 A Yes.

6 Q Or the attending physician would also have the
7 right to be present when the resident undertook
8 the procedure, correct?

9 A Yes.

10 Q So in that way, they would have the right to
11 control the resident?

12 A Well, I don't know how observation is control,
13 but if you think that's control, then yes, they
14 have control.

15 Q If the attending physician disagrees with the
16 treatment that a resident has given one of his
17 patients, the attending physician has the right
18 to have the treatment changed?

19 A Not only his right; it is his responsibility.

20 Q And that would be true for orders given by the
21 resident as well?

22 A Yes.

23 Q Who is responsible to set guidelines for the
24 residents, on one from the Cleveland Clinic, as
25 to what procedures they can or can't perform?

1 A I don't know.

2 I would assume the training program sets the
3 guidelines that accepts you into its teaching
4 program.

5 In this case I guess it's the Cleveland
6 Clinic who accepted Dr. Varma as a resident.

7 They have a contractual arrangement I assume
8 with St. Vincent's Charity Hospital to supply
9 residents in certain fields, and therefore they
10 do so.

11 Now I don't know the legalities of it.
12 I'm not a lawyer and I can't answer who has the
13 actual responsibility when he goes from the
14 Clinic to Charity.

15 And I said, I am sure there are guidelines
16 that the residency program has, the residency
17 program at the Cleveland Clinic.

18 And after that, you guys can fight that out.

19 Q Would that be true for invasive procedures as
20 well, that there should be guidelines?

21 A There are guidelines I'm sure in programs,
22 including basic procedures.

23 Q You mean the doctor in charge of a patient has
24 a duty to make up a guideline for what procedures
25 the resident is able to do on his patient?

1 MR. KAMPINSKI: I will object. I
2 think he has answered that already.
3 A Yes.
4 Q You have nothing more?
5 You're not talking about written guidelines?
6 A No.
7 Q Doctor, have you ever retrieved a guide wire that
8 has been lost in a patient in your experience?
9 A No. Lost in a patient, no, thank God. I haven't
10 seen one lost.
11 Q Or put into a patient that's sent somewhere in
12 a vascular system, have you ever encountered
13 that, not your patient, but the others?
14 A Yes, I have encountered that.
15 Q You have encountered that?
16 A Yes.
17 Q And when did that happen?
18 A What do you mean, when did that happen? The
19 date?
20 Q Yes, like what year.
21 A I don't know.
22 Q How many?
23 A I have seen two or three guide wires that have
24 sheared off. As you are trying to
25 withdraw them, you have a very

1 sharp needle that's used to introduce them into a
2 vessel and they wind up in various and sundry
3 places.

4 I recall one that a patient who had to have
5 a thorocotomy and cardiectomy because the guide
6 wire was stuck in the heart and it was interfering
7 with the function of the mitral valve.

8 The others have been retrieved successfully
9 by radiologists.

10 Two or three that I have seen through
11 percutaneous or transcatheter techniques.

12 Q What does that involve, is that used in fluoroscopes,
13 fluoroscopy?

14 A Yes. Fluoroscopy, direct puncture of arteries,
15 catheters, other guide wires, other catheters
16 and various devices to snare and trap the wire
17 and remove it through the skin.

18 Q Did any of those instances that you encountered
19 involve surgery?

20 A I said there was one instance of fluoroscopy.
21 That's surgery of the heart.

22 Q In the heart?

23 A Yes.

24 Q Okay. And so that in some of those instances
25 the wire was sheared off by the needle?

1 A Yes.

2 Q Is that when the wire is withdrawn?

3 A That's what I said, yes.

4 Q Pardon me.

5 A Well, there are a lot of people here. It is
6 going to be a long afternoon. If we could
7 remember what I said from one minute to the next,
8 it might be shorter.

9 Q All right. And you are aware of reports in the
10 medical literature about guide wires breaking
11 and/or uncoiling leaving pieces in a vascular
12 system?

13 A Yes. As I said, sure.

14 Q And you have experienced it yourself?

15 A Yes.

16 Q Now who was in charge of Mrs. Weitzel's case when
17 Mr. Steele was on vacation?

18 A Dr. Kitchen, I believe.

19 Q Is that something that's appropriate, to leave
20 a partner in charge of a patient?

21 A Absolutely.

22 Q And he would then be the person who would have
23 the responsibility to supervise any residents?

24 A Correct.

25 Q Would you say that in 1991 the most widely

1 accepted form of retrieval of a lost guide wire
2 in a vascular system would be by a radiologist
3 under fluoroscopy?

4 A By someone trained in percutaneous techniques,
5 usually it's a radiologist.

6 It can be a cardiologist.

7 Q It was appropriate for a femoral arterial line to
8 be inserted in Mrs. Weitzel on February 26, 1991;
9 would you agree with that?

10 A I really don't know if it was appropriate or not,
11 to be honest.

12 I think a radial line would have been
13 sufficient. Why a femoral line per se was put
14 in, I think some other kind of a wire, another
15 resident wasn't successful, so then they went
16 to the groin.

17 I will say that you use a femoral, or
18 certainly in my training, in my practice I use
19 a femoral artery as a last resort for a line.

20 Q Why is that?

21 A It's just a, it's a difficult spot, complications
22 that can occur to the femoral artery are
23 disastrous, losing limbs.

24 In the groin is a small artery. The groin
25 is a filthy area of the body, from a bacterial

1 standpoint at least, and if you could avoid it,
2 you do.

3 Q You are aware then that another person or persons
4 were in Mrs. Weitzel's room attempting to insert
5 a line on the same day that Dr. Varma was there,
6 February 26, 1991?

7 A A radial line, is that correct?

8 Q Right. You noted that in the nurses' notes I
9 take it.

10 A Yes.

11 Q And there was no entry in the progress notes,
12 correct?

13 A No, not that I'm aware of.

14 Q That isn't a standard practice for a physician
15 trying to insert an arterial line, is it?

16 A What isn't?

17 Q Not make a record in the progress note?

18 A Not in my practice.

19 MR. KAMPINSKI: Show an objection.

20 Q Not in your practice?

21 A Yes. I think that anything you do to a patient
22 should be documented just to avoid these situatio
23 that we're sitting in now.

24 Q Now the chest x-ray of Mrs. Weitzel should have
25 been read by the pulmonologist, correct?

1 A Did he order those?

2 Q I believe there was a standing order.

3 Assuming there was a standard order and
4 chest x-rays were done.

5 A By the pulmonologist? Standing order by the
6 pulmonologist?

7 Q It depends on who orders them, is that what you
8 are saying?

9 A Well, I think it's the standard of care for the
10 person who ordered an x-ray to look at his own
11 x-rays, number one.

12 Number two, however, pulmonologists when
13 they look at a chest and lungs -- that's what
14 they deal with -- generally use x-rays as one
15 of the most important means of gauging what is
16 going on. So I would assume that chest x-rays
17 were done, the pulmonologist would look at them
18 even if he didn't order them.

19 If he did order them, then I would expect
20 he would look at them.

21 (Mr. Elk left the conference room.)

22 Q And in a situation of a patient with ARDS,
23 would it be expected that the pulmonologist would
24 take a look at the x-rays?

25 A Yes. But that doesn't help him. Once you have

1 ARDS, you see just white. You don't see a whole
2 lot.

3 It's helpful only when it gets better.

4 Q And would you expect a cardiologist to review
5 the chest x-rays of a patient such as Mrs.
6 Weitzel?

7 A It depends. It's hard to say. Cardiologists
8 don't look at chest x-rays.

9 They look at chest x-rays, but maybe not for
10 the same reason as a pulmonologist will.

11 Q Well, in this case where there was a resident
12 who, I believe Dr. Varma, had ordered x-rays,
13 would you expect that one of the other specialists
14 or attending physician would have been monitoring
15 the chest x-rays as well?

16 A That's correct.

17 Q Which specialist would be the person that would
18 be expected to do that?

19 A Well, certainly the attending physician under
20 whose care Dr. Varma was charged, that doctor
21 should look at them because Dr. Varma is his
22 extension, if you will. He is responsible for
23 what Dr. Varma orders.

24 Q That would be Dr. Steele?

25 A Dr. Steele and Dr. Kitchen, and then, once again,

1 the other corporate specialists who need the
2 x-ray to evaluate their patients.

3 Q The potential complications of a retained guide
4 wire in a patient are infection, emboli formation
5 and possibly perforation, is that correct?

6 A Yes, those are the most significant complications.

7 Q And there was no evidence that Mrs. Weitzel had
8 any of those complications, is there?

9 A She had emboli infection.

10 You didn't say infection, did you?

11 Q Yes, infection.

12 A Well, she had infection.

13 Q But that was found prior to the insertion of
14 the --

15 A No, no, they did a culture of the Pseudomonas.

16 Q That was after?

17 A Yes.

18 Q There was no evidence of perforation of the
19 vessels, correct?

20 A Correct.

21 Q It appears that no physician came in to see Mrs.
22 Weitzel after the March 14, 1991 surgery; is
23 that what you are getting from the records as
24 well?

25 A Dr. Moasis' surgery?

1 Q Yes.

2 MR. COYNE: Show an objection.

3 MR. SEIBEL: Likewise.

4 A I think that no one came in. No.

5 There was, I think, a verbal order from a
6 resident, but I am not aware of anybody actually,
7 physician, seeing Mrs. Weitzel following the
8 surgery.

9 Q Well, in view of the change in her vital signs,
10 is that appropriate?

11 A No.

12 MR. COYNE: Objection.

13 Q What should have been done there?

14 A I felt that the, as soon as the vital signs
15 changed on this critically ill patient, the
16 attending surgeon should have been notified and/c
17 the resident, and that person should have come
18 in and seen his patient.

19 Q What caused Mrs. Weitzel's death?

20 A Cardiac arrest.

21 Q And that means what?

22 A Her heart stopped.

23 Q Why did it stop?

24 A Most likely from the complications of the
25 operation and the complication of the previous

1 myocardial infarction about a month earlier or
2 three and a half weeks earlier or whatever it
3 was.

4 Q Did the ARDS also contribute to cause her death?

5 MR. KAMPINSKI: Objection; asked and
6 answered by Mr. Coyne.

7 Q Isn't there a sixty percent mortality rate of
8 persons with ARDS?

9 A I don't understand the question.

10 Forty percent in your estimation then are
11 survivors.

12 Q You don't agree with that statistic?

13 A No.

14 Q There is no indication that Mrs. Weitzel died
15 directly from the guide wires, is there?

16 MR. KAMPINSKI: I'll object.

17 A We already talked about that. Do you want me
18 to go through that again?

19 Q No. You have already said all the opinions on
20 that, correct?

21 A Yes.

22 MS MOORE: Okay, that's all I
23 have. Thank you.

24 THE WITNESS: Thank you.

25 MR. COYNE: Mr. Carmen or Mr.

1 Varma?

2 MR. CARMEN: No.

3 MR. VARMA: No.

4 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

5 BY MR. WARNER:

6 Q As I understand, she was on a special type of
7 ventilator. The name, I believe, is I.E. Reverse
8 Ventilator.

9 Are you familiar with that type of ventilator

10 A I may be, but I don't know this particular code
11 that you are talking about.

12 Q Okay. Did you read the deposition of Dr. Sopko,
13 the pulmonologist?

14 A I reviewed it. I don't know the objective.

15 Q Right. He indicated that the patient while she
16 was on this I.E. Reverse Ventilator could not be
17 moved. That was his opinion as a pulmonologist.

18 Would you have any comment on that or would
19 you say that's an area outside of your expertise
20 and you have no opinion on that?

21 A Well, to be honest with you, I have an opinion
22 that because you are on a specific brand of
23 ventilator, there's no -- I've never in my twenty
24 years of critical care, as well as surgery,
25 experience -- ventilator that was such that you

1 couldn't move the patient because of it.

2 The answer to that question: I would doubt
3 that very seriously.

4 Q I think you indicated in this particular patient
5 you would not have done surgery?

6 MR. KAMPINSKI: Look, I'm going to
7 object because either you don't understand
8 what Dr. Sopko testified to or you are
9 intentionally mischaracterizing his testimony
10 He was discussing the type of ventilation
11 she was receiving.

12 MR. WARNER: All right.

13 MR. KAMPINSKI: So it wasn't the make
14 or the manufacturer of the machine.

15 Q I didn't intend to mean the make or the manufactur
16 of the machine.

17 A That's what you said, though.

18 Q Right. What about the fact that she's on a
19 ventilator --

20 A I don't know what you mean.

21 Q -- the one that's outlined by Dr. Sopko, would
22 that make it that the patient would not be a
23 candidate for --

24 A I don't understand your question so....

25 If you want to give me a specific reference

1 to a specific statement, I'd be more than happy
2 to answer.

3 MR. WARNER: That's fine.

4 I have nothing further.

5 THE WITNESS: Thank you.

6 CDOSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

7 BY MS BITTENCE:

8 Q I am Mary Bittence with Baker and Hostetler.

9 I represent Cleveland Clinic Foundation.

10 A Okay.

11 Q I was glancing through your C.V. while other
12 people were asking questions and under 'Hospital
13 Appointments' you have 'Director of Vascular
14 Laboratory' without date; is that something
15 you are still involved in?

16 A Yes; at Hillcrest Meridia Hospital.

17 Q And then I take it the Co-Director of Vascular
18 Laboratory at Meridia Hillcrest below, that is
19 something you held before you became the sole
20 director?

21 A Oh, I'm sorry. There are two entries.

22 The one ahead of Hillcrest, it says --

23 Q Oh, Brainard. I'm sorry.

24 A -- Brainard. Cardiovascular Laboratory, right

25 The other one is at Hillcrest. That's

1 correct.

2 Q What percentage of your practice is general surgery
3 as opposed to vascular surgery?

4 A Maybe forty percent. Sixty percent of vascular
5 surgery; forty percent of general surgery.

6 Q And do you have one of the hospitals where you
7 have privileges where you do most of your work?

8 A Meridia Hillcrest.

9 Q Doctor, do you perform defibrillation on your
10 patients?

11 A I have, yes.

12 Q Has that been after your residency?

13 A Of course.

14 Q And how many times have you performed defibrilla-
15 tion?

16 A I haven't got a number for you.

17 Numerous times. Fifteen times. Twenty
18 times.

19 Q What is it in the records that leads you to the
20 conclusion that the right pneumothorax on March
21 8th was caused by placement of the swan ganz
22 catheter?

23 A The temporal relationship to the placement of the
24 swan ganz catheter and the pneumothorax.

25 Q There's nothing in the x-ray or any indication

1 of a puncture, it's just a temporal relationship?

2 A Well, there is a procedure note by Dr. Varma
3 indicating the placement of a swan ganz catheter
4 and right afterwards is another procedure note
5 about a punctured pneumothorax being done.

6 It's the next note in the chart. I'm
7 looking at it right now.

8 Q Right. So it's that temporal relationship?

9 A It's a pretty good reason, yes.

10 Q I'm not challenging you, I just want to know.

11 A I know.

12 Q It's not an x-ray that you've seen or --

13 A No, I have not seen any x-ray, as I have stated.

14 MR. KAMPINSKI: Dr. Sopko testified
15 that there was a temporal relationship as
16 well.

17 THE WITNESS: I don't recall if
18 I read it in the nurses' notes also.

19 MR. COYNE: I think Dr. Sopko
20 testified both ways.

21 MS BITTENCE: Right.

22 MR. COYNE: It was in his
23 deposition, I was reading it.

24 MS BITTENCE: On one page he said
25 it was spontaneous.

1 THE WITNESS: I don't recall if
2 there was a nurses' note that put Dr. Varma
3 in there placing a line.

4 That would certainly help define this
5 for you also.

6 But again, I don't recall if there was
7 so...

8 Q Are you familiar with the training in the
9 residency program for internal medicine at the
10 Cleveland Clinic?

11 A No.

12 Q Is your basis of what training Dr. Varma had or
13 did not have in the placing of arterial lines
14 based solely on the logbook?

15 A Correct.

16 Q Is the procedure for placing arterial venous
17 lines basically the same?

18 A No.

19 Q What are the differences?

20 A They are just totally different procedures.

21 One is a positive pressure procedure, the
22 arteries have basically positive pressure in
23 them which cause the appearance of the blood.

24 Venous placements were often compared to
25 apply negative pressure to make sure you're in

1 the right position. So just the actual placement
2 of the needle itself is much different. For a
3 patient, the vessels are markedly different.

4 Q Once you find the vessel, is the actual placement
5 procedure the same?

6 A No, usually not.

7 Q What are the differences in the steps?

8 A Well, it depends on the vein. You are cannulating
9 on the artery. You are cannulating -- very often
10 I won't even use a guide wire in venous procedures
11 or arterial procedures. It depends.

12 If I am cannulating the radial artery, I
13 never use a guide wire.

14 If I am cannulating a jugular vein, I don't
15 usually use a guide wire either.

16 So it just depends on the procedure you're
17 doing and you just have to know how to do these
18 things.

19 Q When you started in your practice twenty years --

20 A Practice? I'm not that old.

21 Q You could have been a child prodigy.

22 A I was, but you still have to go through certain
23 training.

24 Q Does your twenty years include your residency?

25 A Yes, it includes my residency.

1 Q During your residency were you able to cannulate
2 arterial or venous lines without guide wires?

3 A Yes.

4 Q Was that part of your training, to do it without
5 the guide wires?

6 A Of course. In certain instances, as I pointed
7 out.

8 Q Is the procedure of the placing of an arterial
9 line, brachial, radial or femoral, aside from
10 finding the artery itself, step by step different
11 from placing a swan ganz catheter?

12 A Yes.

13 Q Let me, in an abbreviated form, if I could,
14 summarize --

15 MS BITTENCE: And everybody can
16 jump on me with objections if I've done it
17 wrong.

18 Q But my understanding from what other witnesses
19 have said for placing an arterial line if you
20 are using a guide wire is to take the needle,
21 you find the artery, then you take the needle
22 out, you get the needle into the artery.

23 A May I ask a question?

24 Q Yes.

25 A Why are you --

1 MR. KAMPINSKI: Why don't you just
2 ask him.

3 Q Because I want you to go through the differences.

4 If you want to go through both step by step
5 for me, fine.

6 A You have -- with all due respect -- no idea what
7 you're talking about. Just as I would have no
8 idea if I would say legal things to you.

9 So what, exactly, is it that you want me to
10 do?

11 Q Go through step by step how you do a femoral
12 arterial line if you are using a guide line.

13 A Cannulate the artery with the needle.

14 Q First of all, Doctor, will you explain what
15 cannulate means?

16 A Stick the artery with the needle. I make sure
17 I'm in the lumen. And I do that by making sure
18 there is an appropriate blood flow coming back
19 into the needle of the syringe.

20 Then I take the syringe off, keeping my
21 finger over the hole, because I don't want blood
22 squirting in my face, which will happen if you
23 don't do that.

24 Then I take the guide wire of appropriate
25 length. Usually if it's a femoral artery, I'll

1 make sure that the guide wire I'm using is short.

2 I don't believe in using eight-inch guide
3 wires for a catheter that only has to be in
4 three inches.

5 And I will take that wire, which usually has
6 a soft coil tip so as not to perforate the
7 artery once it's beyond the tip of the needle,
8 and thread it into the artery.

9 At that point I will hold the wire in place
10 once it's appropriately threaded and gently
11 withdraw the needle over the guide wire so that
12 the needle is no longer in the artery and the
13 guide wire is in the artery, making sure not to
14 dislodge the guide wire from the artery as I
15 withdraw the needle.

16 I then usually will take a dilator of,
17 usually it's a plastic material, polyethylene
18 type of material, and over the guide wire take
19 the dilator which basically is a catheter in
20 the shape of the catheter lead, perhaps a little
21 bit smaller, and over the guide wire insert it
22 through the skin, into the artery. That's
23 dilating a crack over the guide wire where the
24 catheter will be placed.

25 Once that's done, I will withdraw that

1 dilator out of the artery, again leaving the
2 guide wire in place, holding the guide wire the
3 whole time.

4 I put my finger over the hole so again I
5 don't have blood squirting all over the place.

6 I then take the appropriate catheter that
7 I want to use, whether it is an angio-catheter,
8 whether it is a subclavian catheter, and insert
9 that over the guide wire, again making sure that
10 the guide wire is visible -- and I am holding it,
11 controlling it -- into the artery, through the
12 crack that I've made with the dilator.

13 Once it is in, the end of the guide wire
14 is sticking out through the hub Rulok connector
15 of the catheter that is now lying within the
16 artery.

17 I will hold the catheter in place, grab the
18 end of the guide wire with my right hand --
19 usually I will hold the guide wire with my left
20 hand -- withdraw the guide wire gently through
21 the catheter that I just placed into the artery.

22 Then I take the I.V. solution tubing --
23 whether it is going to be a pressure bag or
24 whatever -- connect it to the hub. And now I
25 have an arterial line.

1 Q What are the anz
2 catheter?

3 And will you go through those steps and
4 tell me what's different? Or just tell me
5 what the difference is. Whatever is easier.

6 Q Well, the difference is that in order to put a
7 catheter in, you need first of all to puncture
8 the, I always use the subclavian vein, which is
9 the vein right underneath the clavicle. I
10 usually go to the right side. It's a more direct
11 route than the left side. It's a shorter artery
12 than the left side. And I puncture it, using
13 negative pressure. In other words, I have to
14 withdraw. I have a syringe attached to the
15 needle that I used to puncture.

16 I withdraw on the back of the syringe so as
17 to obtain blood flow in the vein. This is after
18 I place the patient in a position to kill the
19 pain. Pressure needs a gravity flow.

20 And once I cannulated the vein with the
21 needle, I withdraw the syringe. I don't have to
22 worry about blood squirting out because venous
23 pressure is very low pressure.

24 But I usually put my finger over it so as
25 to prevent sucking the air into the system.

1 I then take the guide wire, thread the
2 needle, and the same procedure about placing,
3 removing the needle slowly, making sure it's not
4 shearing the guide wire, putting the dilator in
5 over it.

6 And then at that point we use something
a called 'Cordes Introducer'.

8 Cordes Introducer is a much larger diameter
9 tube. Through that tube, a little rubber gasket
10 on the end of it, you place another guide, if
11 you will, through this hole because the Cordes
12 itself is too flimsy to put through the crack.

13 And now the Cordes becomes more of a rigid
14 tube, placing over the guide wire again, guiding
15 the guide wire with my right hand, the left hand
16 for the catheter, pass the catheter over the
17 guide wire into the vein.

18 Then I withdraw the guide wire and this
19 introducer, of course, through the center of the
20 Cordes, out, and the Cordes is sutured into
21 place.

22 Once the Cordes is sutured into place, then
23 I have access to the system and I can take my
24 swan ganz catheter and insert it through the
25 Cordes.

1 The Cordes is introduced in a sheath that
2 sits in the vein, in the subclavian vein, and
3 place the Cordes into the right ventricle, up
4 into the pulmonary artery, obtaining the right
5 pressure, and then I make sure that I'm in the
6 right spot, take the x-ray, you know it's the
7 right spot.

8 Q What steps, or however you want to describe it,
9 do you feel are necessary in order for a resident
10 to be trained to place arterial lines?

11 A He needs to see it, he needs to do it with
12 somebody watching him who is proficient at it,
13 and then he needs to do it.

14 Q Is there a number of times he needs to watch it?

15 A It depends on the individual.

16 Q Is that true also of the number of times he has
17 to do it under supervision?

18 A Yes.

19 Q So there's no set number of times?

20 A It's more than one.

21 Q Always more than two?

22 A No, not for me. For Dr. Varma it may well have
23 been.

24 Q I'm just talking in general.

25 In a general training program there's not some

1 number that necessarily says everyone has to do
2 it 'X' amount of times under supervision before
3 they can do it on their own, they have to watch
4 it 'X' number of times?

5 A He has to do more than one for each.

6 Q And if in fact Dr. Varma did watch several
7 femoral arterial line placements, and in fact
8 did several under supervision, and the person
9 supervising was proficient and he felt he knew
10 what he was doing, would it have been proper then
11 for him to do it on his own after that?

12 A If all those things are true, yes.

13 Q If a resident performs one femoral arterial
14 line placement and four swan ganz placements and
15 two brachial arterial line placements and does
16 them all proficiently, would they be qualified
17 to do femoral arterial line placements solo?

18 A Never having seen one or done one?

19 Q No, I'm sorry, I thought I said that first.

20 Did one femoral, three brachial, four swan
21 ganz.

22 A I don't know. The question doesn't have any
23 merit. I don't know what you are talking about.

24 Q Well, I'm trying to get your opinion, how many
25 times --

1 A I have no opinion.

2 I said it depends on the resident. There
3 is no generic resident.

4 Q The other part of that was to understand your
5 opinion on if they do one type of arterial line
6 placement and three other type of arterial line
7 placements, in other words, femoral, brachial,
8 are they then qualified to do the femorals,
9 which they have only done once, but have done
10 other arterial lines, on solo if they do them
11 all proficiently?

12 A As I stated, it depends on the individual.

13 Q All I'm trying to do, Doctor, because you made
14 a difference to me again how you do the second
15 procedures.

16 A You asked me if there was a difference, and I
17 said yes.

18 Q So then I want to know, would a resident be
19 trained enough to do a femoral line individually,
20 solo, if he had done only one femoral line and
21 three brachial.

22 A Not necessarily.

23 Q But he might?

24 A He might.

25 Q So you wouldn't have to do more than one of a

1 specific kind of arterial line placement, just
2 more than one arterial line placement?

3 A It depends again on the resident.

4 Q Okay. That's what I'm saying.

5 He might be proficient having done --

6 MR. KAMPINSKI: He said that now
7 about twenty times.

8 MS BITTENCE: All I would like him
9 to say is yes.

10 MR. KAMPINSKI: He said it over and
11 over and over.

12 A I think I understand what you're trying to do,
13 but why don't we get on with it, because my
14 answer is my answer.

15 You are making a generalization for every
16 resident, and I'm telling you there is no
17 generalization for every resident.

18 Q I'm saying 'assuming'. In other words, does it
19 make a difference.

20 A Yes, it does make a difference where the line
21 goes. Does it make a difference if you do one
22 type of one procedure and four of another type
23 of procedure that one is sufficient is the
24 question. And I said yes, it does make a
25 difference, it depends again on the individual

1 resident.

2 Q So you are agreeing that that resident could be
3 proficient to do one solo?

4 A That hypothetical resident could be.

5 Q Right.

6 A Yes.

7 Q Okay.

8 A Or he may not be, depending on the resident.

9 Q All right. I understand.

10 But there is no requirement in your mind
11 that they do a specific arterial line several
12 times.

13 A No I said at least once.

14 Q Right, I understand that.

15 You mentioned that in this specific case you
16 may not have chosen the the femoral arterial
17 lines as the location, correct?

18 A Yes, that's correct.

19 Q In your opinion did the use of a femoral location
20 as opposed to brachial or radial in and of
21 itself cause any damage to the Plaintiff?

22 A Just -- no.

23 Q Do you have an understanding as to why Mrs.
24 Weitzel was life-flighted from Samaritan to
26 Charity?

1 A I believe they felt that she could be better
2 cared for at Charity than they could at this
3 smaller hospital in Ashland.

4 Q And was that based on what her condition was like
5 on the second day that she was at Samaritan?

6 A I would assume so.

7 Q Do you know whether it deteriorated overnight or
8 stayed the same as when she was first admitted?

9 A I am not familiar with Samaritan Hospital and
10 their capabilities, so I can't answer as to why
11 specifically.

12 As far as her condition was concerned, it
13 didn't appear that it was much different than
14 when she came in, except perhaps a little more
15 stable.

16 Q Is the term 'critical condition' when describing a
17 patient a term of art in medicine; in other
18 words, are there guidelines when they say a
19 patient is critical or they're good or fair?

20 A Basically it's a subjective opinion.

21 - There is no objective opinion on the data
22 that I am aware of that makes someone critical
23 versus serious versus guarded versus satisfactory
24 versus good.

25 Q Do you have your own subjective guideline that

1 you follow for determining when you would call
2 a patient critical?

3 A Yes.

4 Q And what, a heart patient, what would --

5 A What do you mean by 'heart patient'?

6 Q When somebody has had a myocardial infarction,
7 what would be their overall condition for you to
8 describe them as critical?

9 A Admission to the intensive care unit.

10 Q So whatever variables so long as long as they
11 were admitted to intensive care?

12 A Correct.

13 Q Doctor, have you seen any x-rays for Mrs.
14 Weitzel when she was at Charity?

15 A No.

16 Wait a minute, let me --

17 At Charity?

18 Q Right.

19 A I saw one x-ray, chest x-ray, that showed some
20 wire, that showed wire in there, or a copy of the
21 x-ray. I did see that one.

22 Q Do you recall what date that was from?

23 A No.

24 Well, I will recall that it was after the
25 26th.

1 Q 26th?

2 A Yes.

3 Q When you looked at, whichever one of the x-rays
4 it was, and you looked at the actual x-ray, not
5 the report?

6 A I looked at a copy of the x-ray, I do recall
7 seeing that.

8 Q Could you tell if there were one or two guide
9 wires?

10 A I thought there were two by looking at it.

11 But I did have the knowledge of hindsight.

12 Q Have you seen the guide wires that are at St.
13 Vincent?

14 A No.

15 MS BITTENCE: That's all I have.

16 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

17 BY MR. JACKSON:

18 Q Dr. Pitluk, I represent Dr. Steele.

19 Would you list for me, please, all criticisms
20 you have of Dr. Steele's care of this patient?

21 A I believe Dr. Steele had responsibility as a
22 cardiologist, specifically an internist in
23 general, to know the condition his patient was
24 in, to understand the possible complications due
25 to these conditions and to exercise the appropriat

1 judgement in making sure that he would at least
2 do no harm to this patient while he was trying to
3 help her.

4 I think that he was successful in removing
5 one guide wire percutaneously, and I think that's
6 appropriate.

7 Q You don't have any criticism of that procedure
8 or the decision to do that procedure?

9 A Correct.

10 My problem with him comes with the fact
11 that there was a second guide wire left in place
12 which he was unable to obtain, he just left it in.

13 He demonstrated by doing the one percutaneous
14 ly, a safe and efficacious method of removing that
15 guide wire. But then for some particular reason
16 which to me is I believe below the standard of
17 care -- and this is a criticism -- he did not
18 exhaust those modalities and use those experts
19 available to him to remove the second guide wire
20 in this similar technique.

21 Specifically, he had a partner in his own
22 practice, Dr. Kitchen, who was a self-proclaimed
23 and I believe published expert removal of foreign
24 body guide wires from arterial systems. He
25 never even asked him about it. He never suggested

1 he help.

2 He had a very strong radiology department,
3 what I understand to be Charity Hospital
4 Radiology Department, with people capable also
5 in percutaneously removing those guide wires,
6 he never asked their help.

7 What he did do was take a patient who was in
8 a peri-myocardial infarction period and subjected
9 her to a major operation which ultimately helped
10 her to her death.

11 So that's a strong criticism.

12 I believe he should have communicated better
13 with his colleagues. I think he should not have
14 availed himself of the operative procedure.

15 Finally and moreover, once Mrs. Weitzel
16 underwent this major operation on the 14th of
17 March, Dr. Steele never went and saw his patient
18 afterwards.

19 Granted, it was Dr. Moasis who operated,
20 but Dr. Steele, I feel, who had put so much
21 time and effort into this young woman and really
22 had gotten her to a point where she had survived
23 this major myocardial infarction and all the other
24 problems that she had, nobody saw her, including
25 him.

1 You would think that the cardiologist, the
2 heart doctor, would have been concerned about a
3 patient who had an MI within the last month and
4 just had a major operation would have stopped by
5 to see her. He never did.

6 So I feel that's below the standard of care
7 for a cardiologist in a major hospital with a
8 private patient.

9 Q Okay. I have got then three criticisms where
10 you believe he deviated from standard of care,
11 first being --

12 A I'm not done.

13 Q Oh, excuse me.

14 A And the other criticism I have, and the final
15 criticism, is, I feel that Dr. Varma was his
16 responsibility. I mean ultimate.

17 Dr. Varma is a grown man and a physician who
18 is practicing and so he also had obvious, his
19 own responsibilities.

20 But Dr. Steele was the attending physician
21 under whose care Dr. Varma was supposedly
22 operating, and I feel that Dr. Steele should have
23 much more closely supervised his resident.

24 I think he should have been looking at his
25 own x-rays, as an example.

1 I don't know why Dr. Steele didn't see those
2 wires. I saw them.

3 The radiologist did in fact point them out
4 on March the 2nd of -- or the 3rd, one of those
5 days. And Dr. Steele, I think, could have seen
6 those as well.

7 So I feel that a lot of the things that
8 transpired may have been avoided had Dr. Steele
9 supervised his resident better and been more
10 aware of what was going on.

11 Those are my major criticisms.

12 Q Are you done now?

13 A For now.

14 Q Okay. You think there may be some more, is that
15 what you are saying?

16 A The way you phrased the question, I thought I
17 might.

18 Q Well, I would like to know if there are any more
19 as we sit here.

20 A I am not aware of any more.

21 Q So you have listed for me all of your criticisms
22 of Dr. Steele then?

23 A Yes.

24 Q So that I can summarize them, I have four.

25 A Okay.

1 Q One, the decision to do the second surgery.

2 And you talked about another --

3 A Second surgery?

4 Which was the first surgery?

5 Q I'm talking about the surgery to remove the guide
6 wire, the procedure.

7 A Okay. That's the only surgery she had.

8 Q The procedure that he used, you wouldn't call
9 surgery?

10 A No. I wouldn't call it surgery, no.

11 Q It is an invasive procedure?

12 A It's invasive, yes, but so is putting I.V. in.

13 Q Right.

14 Then rather than term that as surgery, the
15 decision to turn her over to Dr. Moasis for
16 surgery by Dr. Moasis is your first general --

17 A Correct.

18 Q -- criticism of him?

19 A Correct.

20 Q The second one is communication with his
21 colleagues, he should have communicated better?

22 A Correct.

23 Q The third was that he did not go in to see his
24 patient after the March 14th operation?

25 A Correct.

1 Q And the fourth was that he was ultimately
2 responsible for Dr. Varma and that he should have
3 supervised Dr. Varma more closely?

4 A That's correct.

5 Q Did I cover all of them?

6 A Yes.

7 Q Then as it relates to your first criticism, that
8 being the decision to do the surgery, you made
9 a comment about him exhausting other modalities;
10 what other modalities should he have exhausted
11 before going to the surgery?

12 A I suggest I told you that he should have contacted
13 Dr. Kitchen or the radiologists and used their
14 expertise in removing the guide wire percutaneously

15 Q Are those the modalities that you are suggesting?

16 A Yes.

17 Q Why should he have contacted the radiology
18 department, what would lead you to believe that
19 they could have done the procedure any better than
20 he did?

21 A They probably do it more than he does, and that's
22 generally who does these procedures.

23 Q Are you critical of a cardiologist doing the
24 procedures?

25 A No.

1 Q And you don't have any particular or specific
2 knowledge that they have any better expertise
3 than he does at St. Vincent's, do you?

4 A 'They' being the radiologists?

5 Q Radiology department.

6 A Better expertise, no.

7 Q Now if in his opinion they did not, would you
8 still be critical of him not having them perform
9 the procedure?

10 A Yes.

11 Q So regardless of his judgement as to whether he
12 has at least the same experience or quality
13 obtained with performing this procedure as
14 radiology or perhaps better, you still are criti-
15 cal of him for not approaching them?

16 A Yes, that's my second criticism, communication.

17 Q We'll get to that.

18 A Well, but this is part and parcel of it.

19 Q So regardless of his feelings about their
20 abilities or what their abilities really are,
21 you are critical of him for not going to radiology
22 and having them attempt to remove the second
23 guide wire?

24 MR. KAMPINSKI: That's the third time
25 you have asked him. His answer is yes.

1 Q I am trying to clarify it in my mind.

2 A Yes.

3 Q And you believe that is a deviation from accepted
4 standards of care?

5 A Absolutely.

6 Q Do you believe that is a proximate cause of the
7 end of this patient, his failure to talk to the
8 radiology department about the procedure, about
9 doing the procedure?

10 A Yes.

11 Q What harm did that cause?

12 A An operation to be performed.

13 Q You assume they could have retrieved the guide
14 wire?

15 A Within a reasonable degree of medical probability,
16 yes.

17 Q That they could have done that?

18 A Yes.

19 Q What leads you to believe that?

20 A My experience with radiologists.

21 Q What was it about this particular case, what is
22 it that you looked at or saw or reviewed that
23 leads you to believe that the radiology department
24 could retrieve this wire?

25 MR. KAMPINSKI: He just told you that.

1 Why do you keep asking the same question.
2 If you don't like the answer, that's
3 your problem, it's not his.

4 MR. JACKSON: I think he said his
5 experience. I am asking about this particular
6 case now.

7 MR. KAMPINSKI: What about it?

8 MR. JACKSON: I want to know.

9 That's what I want to know.

10 A Well, he did get one wire out. It seems to me
11 he could get the other. They weren't that far
12 apart from each other.

13 So it's that simple.

14 Q Now as it relates to Dr. Kitchen, I know you
15 stated in your report that Dr. Kitchen is a self-
16 proclaimed expert in the field; were you intending
17 to be sarcastic?

18 A No. I believe Dr. Kitchen said that or had
19 discussed the fact somewhere that he had perfected
20 a technique or had a special gimmick or some sort
21 of instrument that he used to percutaneously
22 remove foreign bodies.

23 Q So that wasn't intended as a sarcastic comment
24 about Dr. Kitchen?

25 A Well, you know me better than that.

1 Q I'm asking.

2 A No. I don't write sarcasm in letters; just
3 personally in people's faces.

4 Q So then not going to Dr. Kitchen, you believe
5 that was a deviation of standard of care also?

6 A Yes.

7 Q Do you also believe that that was a proximate
8 cause of the end of this patient?

9 A Yes.

10 Q And do you believe that Dr. Kitchen could have
11 removed this second wire?

12 A Yes.

13 Q On what do you base that opinion?

14 A As I stated, Dr. Kitchen's expertise in removing
15 foreign bodies from vessels.

16 Q Are there other modalities other than Dr. Kitchen
17 and the radiology department that you refer to
18 when you say he did not exhaust other modalities?

19 A The only other modality is leave the wire alone.

20 No. The percutaneous approach is the
21 modality that I am referring to.

22 Q Did the wire have to come out?

23 A At the present, then, no.

24 Q Did it have to come out eventually?

25 A Yes.

1 Q It was a necessity that at some point in time
2 these wires would have to be taken out, they
3 couldn't just be left in?

4 A No, that's not good medical practice.

5 Q The comment about him communicating better with
6 his colleagues, explain that for me, please.

7 A Well, I just look at everything that happened to
8 Mrs. Weitzel. It just seems to me if people were
9 to talk to each other more, a lot of this or all
10 of it perhaps could have been avoided.

11 If the radiologist would have talked with
12 Dr. Steele about their findings on the 1st or
13 2nd, and not wait until the 8th, that would
14 certainly have made this diagnosis come forward
15 a lot sooner.

16 If Dr. Varma would have told people what
17 happened when it happened, instead of trying to
18 cover it up or attempt to cover it up, then I
19 think this wire could have been grabbed a little
20 sooner.

21 If Dr. Steele would have talked to the
22 radiologist, whether he thought they were good
23 or not, or talked to his partner, Dr. Kitchen,
24 and said, hey, look, guys, I got one out, I
25 didn't get the other one out, what do you think,

1 Q How different?

2 A Well, she would have had the wire either left in
3 place or removed percutaneously, she would not
4 have had the unnecessary operation to cause her
5 death.

6 Q And you feel that is in part caused by his lack
7 of communication with these various people you
8 just described?

9 A Correct.

10 Q And in terms of what I numbered three, because
11 that's the third one you mentioned about not
12 seeing her post-op; when should he have seen her
13 post-op and why?

14 A Why is simply because he had a very critically
15 ill patient who underwent a major operation and
16 the part of her that was critically ill is the
17 part that he was taking care of, heart, as well
18 as her general pulmonary status, infection, and
19 he was the internist who was running the show
20 here. So that's why he should have seen her.

21 When he should have seen her? Sometime
22 within the peri-op period, whether it's the
23 first few hours, three or four hours usually,
24 before he left the hospital that day would have
25 been a good time to see her.

1 let's get it out, I have a lady here who has this
2 foreign body in her, she had an MI three weeks
3 ago, I don't want us to have to do an operation,
4 let's get this percutaneously, somebody would
5 have taken it out percutaneously.

6 And I think that when Dr. Moasis did the
7 surgery, had Dr. Steele communicated with him
8 about what happened about how the wire came out,
9 personally talked with him or called him and
10 talked with the nurses -- called up on the phone
11 even and said, how's my patient doing, he would
12 have found out the patient was hypotensive.

13 Q This is the two and three kind of overlapping
14 each other?

15 A I didn't make a list for you.

16 Q The third one was the, not seeing the patient post-
17 op which you just described?

18 A That's, yes, that's talking about, but actually
19 seeing the patient would have even been optimal.

20 Q So as it relates to Dr. Steele, you don't believe
21 he talked enough to his colleagues as it relates
22 to the care and treatment of Mrs. Weitzel?

23 A I think that's correct.

24 I think that had he done that, the course of
25 events would have been markedly different.

1 Q What would have been accomplished had he seen the
2 patient the first three or four hours post-
3 operatively?

4 A I think he would have noted some instability on
5 her, check the cardiogram to see what was going.

6 Q Is there any indication in the records, Doctor,
7 that you reviewed that in the first three or four
8 hours post-operative that there was any instability
9 on this patient?

10 A I believe she was becoming tachycardic, hypotensive
11 the first four hours.

12 Q Should he have been notified of that?

13 A Somebody should be notified of it, whether him
14 specifically, but I don't know how the hospital
15 is set up.

16 Q If there's a change when you do surgery, if
17 there's a change in a person post-op, whose
18 obligation is it to let you know?

19 A The first one that notices the change, the nurses.

20 Q Are you required to be there at all times with
21 a patient post-op?

22 A At all times?

23 No, only if there's significant changes
24 going on that I would address directly.

25 Q And those would be changes that you should be

1 alerted to?

2 A Absolutely.

3 Q You said she was significantly tachycardic within
4 three to four hours post-op?

5 MR. KAMPSINKI: Why don't you look
6 at the chart. He wants a specific time.

7 Q Please. I would like you to point out for me the
8 basis for that statement.

9 A Okay.

10 Q You said 'tachycardic', and there was another --

11 A Hypotensive.

12 A At 1600, that's a pulse rate of 127.

13 Q 1600?

14 A Yes.

15 Q When did the operation end? I believe 4:30.

16 That would be 1630, wouldn't it?

17 A Well, yes. I don't see it.

18 Q When did the operation end?

19 A Let's find out. It's in the chart.

20 MR. KAMPINSKI: She was in her room
21 at 4:20, returned from O.R.

22 Q She was in her room at 4:20.

23 A I don't know what this is.

24 This is a flow sheet. I don't know what
25 that's from.

1 It says here, in the nursing progress notes,
2 returning from the O.R. This is 4:20 P.M. Okay.

3 Q Now you said at some point between then and three
4 to four hours after that he should have seen her
5 because she was tachycardic and hypotensive.

6 A Yes.

7 Q Unstable I guess was your word.

8 A Yes.

9 Q Would you point out to me what it was in that time
10 frame, that evidence of tachycardia, hypotension
11 and instability?

12 A Well, I need to get the chart out, the records
13 out.

14 Q Okay.

15 A Well, here is a, it says 3/14/91, so I assume this
16 is the surgery. It gives a pulse rate at twenty
17 hundred, which is what, 8:00 P.M? That's about
18 three and a half hours after she's back to her
19 room. She had a pulse of 141 and a blood
20 pressure down from 180 over 98 at Noon to 112
21 over 60 at 8:00 P.M. That's three and a half
22 hours later.

23 Q Well, up to that time how was she doing?

24 A There is no other entry up to that time, as I
25 pointed out to you, the other one at 1600, she

1 wasn't in her room then, she was still in surgery.
2 So I don't know what that entry was.

3 But certainly a time was appropriate for
4 her during time of surgery, she was tachycardic
5 and hypotensive, relative to the way she's been.

6 Q Okay. That's at 8:00 P.M. you are talking about?

7 A Yes.

8 Q Should he have been made aware of that?

9 A I think so, yes.

10 Q Are you suggesting he should have been there at
11 that time?

12 A I'm suggesting he should have come there when
13 she was beginning to show instability.

14 Q I understand that. But should he have been in
15 the hospital at 8:00 P.M.?

16 A No, not necessarily.

17 Q And unless he was notified of some instability
18 in a patient, there is no reason you would
19 criticize him for not coming back to the hospital?

20 A No. That's correct.

21 Q Are there any other notes?

22 A That's it.

23 Oh, yes. At 10:00 o'clock, diaphoretic.

24 I assume this is ten -- it says 10:00 P.M.

25 We're off military time now. We're on

1 American time.

2 And this talks about diaphoretic, range of
3 motion.

4 I don't see any vital signs reported.

5 Oh, 1:00 o'clock.

6 Q That would be 1:00 o'clock A.M.?

7 A I would assume so.

8 Sinus tach. That's tachycardia again.

9 Unable to feel pulses. She didn't have
10 much of a blood pressure.

11 V tach noted.

12 We have very poor documentation, but it
13 says tachycardia and feeling no pulse means you
14 don't have much blood pressure.

15 Q Okay. Again so I'm clear, these are changes in
16 the patient's condition which he should have been
17 made aware of by someone?

18 A Oh, yes, that's correct.

19 Q You don't criticize him for not knowing these
20 things if he wasn't called by someone who
21 mentioned them, do you?

22 A Of course not.

23 We're talking about Dr. Steele now?

24 Q Yes.

25 A Yes, of course not.

1 Q Are there any other facts from the record that
2 you base that comment upon about coming in to
3 see her after the operation, in the three or four
4 hours after the operation?

5 A No. I mean, that's what I think good medical
6 care would have entailed.

7 Q Your other criticism was as it relates to Dr.
8 Varma.

9 A Yes.

10 Q You say there should have been much more closer
11 supervision over Dr. Varma; is that the essence
12 of it?

13 A Yes

14 Q Are you holding Dr. Steele responsible for
15 Dr. Varma's medical insertion of the guide wire?

16 A Do I hold him responsible?

17 MR. KAMPINSKI: I'm going to object.

18 That is up to the jury.

19 A Yes, I am not passing judgement here, to be
20 honest with you.

21 Q I'm asking in your opinion then, with a reasonable
22 degree of medical certainty, you are saying
23 ultimately responsible, but you as a physician.

24 A Yes, as a physician.

25 Q Do you feel with a reasonable degree of medical

1 certainty that Dr. Steele was responsible for
2 Dr. Varma's insertion of the guide wire?

3 A No. What I say is, within a reasonable degree
4 of medical probability or certainty, Dr. Steele
5 is responsible for the actions of his resident.

6 Q That's in a general sense?

7 A Yes.

8 Q He can't be responsible for every physical act
9 of Dr. Varma, you don't suggest that?

10 A No.

11 Q How basic a procedure is the insertion of an
12 arterial line such as this?

13 A Perhaps you could better --

14 Q Well, what level of medical training would you
15 say someone would learn to do this?

16 A Oh, towards the end of his first year of residency

17 Q And Dr. Varma was in what?

18 A He was in the second year of residency.

19 Q The other resident that you said was with him
20 when he inserted this, do you know what her
21 position was?

22 MR. KAMPINSKI: He said she came.

23 Q Okay, that she came.

24 Do you know who that was?

25 A I believe it was a PG-1, a first-year, but I am

1 not sure.

2 Q Do you recall the name?

3 A I don't think so.

4 It was a woman, I know that.

5 Q Is there a progression in terms of, for lack of
6 a better term, authority as it relates to residents?

7 A Yes.

8 Q Resident, junior resident, senior resident?

9 What would you call Dr. Varma, a junior,
10 senior?

11 A He was a second year, so he was sort of between
12 junior and -- he wasn't a senior yet. He was
13 sort of a mid level resident.

14 Q But there were senior residents on the service
15 there?

16 A I'll assume there are, yes

17 Q Chief resident?

18 A There is a chief resident that covers the
19 institution.

20 Q In terms of the chain of command so to speak,
21 the junior resident would be answerable to the
22 senior resident?

23 .. Ultimately. The senior resident has authority
24 over the junior resident.

25 Q Does the chief resident have authority over both

1 the junior resident and the senior resident?

2 A Usually.

3 Q Is it usually the chief resident who deals with
4 situations involving the junior and senior
5 residents in the absence of the attending?

6 Isn't that why it is set up that way?

7 A I don't know why it's set up that way. I've
8 always wondered why it's set up that way
9 honestly.

10 But again, as I stated before, ultimately --
11 not in any situation, but in serious situations
12 where patients' lives involved, it's the attending
13 that's involved in the charge. I don't care how
14 many senior residents are around or how many
15 chief residents are around. You're the guy
16 who's got the license.

17 Q But in terms of, as I said, the chain of command
18 of responsibility.

19 A Well, the chain of command is just that.

20 If there's a line to be put in, the chief
21 resident will say, put the line in, to whoever
22 happens to be around.

23 If it's the senior resident, he'll put it in.

24 If it's the junior resident, he'll put it in.

25 Q And we could assume, could we not, that the chief

1 resident would rely upon the individual who
2 directed or made that direction that they could
3 put it in properly?

4 A Well, he should know that.

5 Q He should know that?

6 A He should know the capabilities of his staff.

7 Q That being the chief resident?

8 A The chief resident should know the capabilities
9 of the senior resident and the junior resident.

10 Q The senior resident, does he have responsibility
11 over the junior residents?

12 A Usually.

13 Q Should he be familiar with their qualifications?

14 A He should be familiar with their abilities to doing
15 their job.

16 Q Have you reviewed any material since you rendered
17 your report other than there were some logs
18 apparently you looked at, anything else?

19 A Yes.

20 Q What are they?

21 A Some letters, some expert opinion letters.

22 Q Which ones did you review?

23 A I saw a letter from Dr. Alan Markowitz; I saw a
24 letter from Dr. Joel Holland; I saw a letter
25 from a Dr. Massouh; I saw a letter from --

1 THE WITNESS: Tell me what I saw.

2 MR. KAMPINSKI: I think he saw them
3 all.

4 I believe he saw the experts!

5 A I reviewed all the experts, letters.

6 Q Letters. Anything else?

7 A I saw the personnel file of the Cleveland Clinic
8 of Dr. Varma.

9 Q Anything else?

10 A Those I think are the major records, the only
11 records.

12 Q Did you or do you maintain a file as it relates
13 to this case?

14 A Not really.

15 Mr. Kampinski shows me the stuff, and I
16 read it, and that's it.

17 Q And you just give it back to him?

18 A Give it back to him, yes.

19 Q You don't keep a correspondence file, a folder
20 of correspondence?

21 A The only correspondence I have is the expert
22 letter I wrote, that's it.

23 Q How about, do you keep billing records to keep
24 your time?

25 A Yes, I keep my log of the time.

3 Q So there would be some log.

2 Where is that?

3 A In my office.

4 Q You only record when you were contacted, what
5 you did?

6 A Yes, I usually just write down the times, I don't
7 write down the specific dates.

8 Q You don't put down dates on your billing record?

9 A Yes, I put down dates when I submit the bills.

10 Q You don't put down dates of service rendered?

11 A Sometimes I do; sometimes I don't.

12 Q I'm not talking about time, I'm talking about
13 dates.

14 A Yes, dates. Sometimes I do; sometimes I don't.

15 Q Did you do it in this case?

16 A I don't recall.

17 Q Would you provide us with your billing records
18 in this case?

19 A Sure, sure.

20 Q What criteria would you rely upon for when this
21 woman's wire should have been removed?

22 And so we're clear, the removal that I am
23 talking about is the surgical removal as you
24 defined it, not including this percutaneous
25 approach. You don't have any problems with that

1 or the decision to do that, correct?

2 A No.

3 Q So we're talking about the actual surgery
4 performed by Dr. Moasis or a similar procedure,
5 is that --

6 A Correct.

7 Q I just want to be clear, then we'll talk about
8 surgery.

9 What would have been the criteria to
10 perform that surgery, to remove the wire?

11 A A stable patient; not in the peri-myocardial
12 farction period.

13 Q Define 'peri-myocardial infarction period'.

14 A Well, in this case, a month.

15 Q So a month out from the MI would be in your
16 opinion the peri --

17 A Yes, that would be a reasonable time to do it,
18 if the patient was stable.

19 Q What do you mean by 'stable'?

20 A They ought to be stable and not demonstrating
21 any difficulties, ventilation difficulties with
22 blood pressure, pulse, with sepsis.

23 Q Do you distinguish between anoxic brain damage
24 and apoxic and hypoxic brain damage?

25 A No.

1 Q Do you agree that the decision to remove the wire
2 by surgical methods is a judgement call?

3 A Yes.

4 By surgical -- I'm sorry -- you mean
5 operative, not this other way we explained?

6 Q That's why I defined it earlier.

7 We're talking about surgery.

8 It is a judgement of the physician involved
9 as to when to remove this wire; would you agree
10 with that or not?

11 A We already ascertained that, but let's talk
12 about surgical now.

13 Q I mean surgical in the sense that you defined
14 surgery.

15 That's why I went through that a moment ago.

16 A As I said, there are surgery criteria that should
17 be met, stability, where you are in relationship
18 to the peri-myocardial infarction period.

19 That's not judgement. That's just good medical
20 practice.

21 Q Is there some medical text journal that you
22 could refer us to that would support the comments
23 in that regard?

24 A There are articles regarding surgery in the peri-
25 myocardial infarction period, yes.

1 Do I have them offhand, no.

2 Q Are there risks attached to doing the surgery
3 outside the peri-myocardial infarction period?

4 A Yes.

5 Q And what are those?

6 A Any major operation has the same risks. Bleeding,
7 infection, anesthesia risks, complications of
8 the procedure, bowel injuries, error injuries.

9 Q You indicated the cause of her death. I'm not
10 sure I heard you tell us the mechanism of her
11 death.

12 A The mechanism.

13 She bled, became hypotensive, had a myo-
14 cardial infarction or a cardiac arrest, not
15 myocardial infarction.

16 Q Had she survived this hospital stay, what would
17 have been her life expectancy, if you know?

18 A I think that's an actuarial -- I think she would
19 have had a long life expectancy at forty-seven.

20 Q Would it have been limited because of the disease
21 process that she suffered?

22 A I would say that she would have led a reasonably
23 normal life. I can't say she would be a hundred
24 percent normal. But I think within a reasonable
25 degree of probability she would have led a

1 reasonably normal life, able to resume working,
2 functioning normally.

3 Q That is not especially good quality of life if
4 she survived?

5 A Yes, I think it would have been good quality.

6 Q You don't feel there would have been any limita-
7 tions on the quality of her life?

8 A Not at forty-seven, having survived this myo-
9 cardial infarction.

10 Q What was her neurological status on the 14th?

11 A Well, the nearest I recall from the junior medical
12 resident and from the nurses' notes what I can
13 glean -- nobody really talks specifically about
14 neurological status except to say that they were
15 weaning her off of the neuromuscular agents, the
16 neuromuscular blockade agents, weaning her off
17 of the --

18 Q Does that give you some indication of the
19 neurological status, that they were weaning her
20 off of the neuromuscular blockade agents?

21 A Well, yes. It indicates that she had a neurologi-
22 cal status and that they can't well assess them
23 until they stop the paralysis that's been on-
24 going to see how she's doing, and also the
25 sedation that she was under.

1 And so as a result, it would be difficult
2 really to do a neurological assessment.

3 But the nurses' notes allude to her respond-
4 ing to commands at one point, lifting of her arm,
5 blinking her eyes at one point, spontaneous
6 motions on her part.

7 And again, the junior resident on the first
8 week I believe was commenting on how they were
9 stopping on these medications to let her come
10 off, let her function more without her being
11 depressed and sedated.

12 Q How do you assess her neurological status on the
13 14th?

14 MR. KAMPINSKI: I am going to object.

15 You asked him that. He answered that.

16 Why do you keep asking him the same questions
17 over and over again?

18 MR. JACKSON: He listed a number of
19 facts. He didn't assess her neurological
20 status.

21 THE WITNESS: I can't assess her
22 due to the fact I never examined her.

23 Q Can you assess it on the basis of the records
24 what her neurological status was on the 14th
25 before her death?

1 MR. KAMPINSKI: Do you want him to
2 go back to the time prior to the institution
3 of these medications when she was responding
4 to verbal stimuli where she was trying to
5 write but couldn't hold a pen where all
6 these things are on record, or are you
7 limiting them now to when she was in fact
8 paralyzed?

9 Q Has he given you enough information now, Doctor,
10 to assess --

11 MR. KAMPINSKI: If you want to ask
12 a fair question, ask a fair question.

13 Q My question to you is, can you tell us what her
14 neurological status was on March the 14th?

15 MR. KAMPINSKI: Objection; asked
16 and answered.

17 A I told you what I felt her status was.

18 Can I tell you specifically what she was
19 doing?

20 She was paralyzed, she was still under
21 sedation, so the answer to that question is no.

22 Okay, is that what you want?

23 Q I just want a fair answer to my question, that's
24 all.

25 A Mr. Jackson, I don't think it's a fair question.

1 Q Let me ask you, what was unfair about my question
2 about her neurological status?

3 A I answered the question.

4 I said that she was doing certain things
5 and responding, but she was sedated and she was
6 also paralyzed.

7 Q So your answer is that you can't evaluate it?

8 MR. KAMPINSKI: He said that three
9 or four times.

10 A Yes, I did say it three or four times.

11 Q Okay. How about her cardiac scan, is it possible
12 to assess it?

13 A It was improving from the time she came in.
14 She was stabilized. There was no evidence of
15 any deterioration in her status.

16 Q In terms of good, bad, can you evaluate it in
17 those terms?

18 A No.

19 Q When you were discussing her status at the time
20 of the surgery, that she was not a candidate,
21 I believe it was under the question from Mr.
22 Coyne, you said she was septic on the 14th.

23 A Yes.

24 Q What's your basis for that?

25 A I know that her white count of thirty-three plus

1 thousand on the, it was either the 12th or the
2 13th, the last one they did, tachycardia, a
3 fever, 38 something.

4 Q You said she had a decreased platelet count.

5 A She also had that, yes, and that very well could
6 be an indication of sepsis.

7 It's not a hard indication, but it could
8 very well have been an explanation for it.

9 She also had a positive blood culture done
10 where Pseudomonas had grown.

11 Q When was that done?

12 A The 10th or the 11th.

13 Q How was that addressed?

14 A Antibiotics.

15 Q Do you know the status of that as it relates to
16 the 14th?

17 A The status of what, sir?

18 Q The status of the culture.

19 You said she had a positive culture; had
20 that been corrected as of the 14th?

21 A You don't correct cultures.

22 Cultures tell you there's something going on
23 and you treat them when she was treatable.

24 Q Was treatment successful, excuse me.

25 A Well, you need to treat somebody for a week to

1 ten days, or a week to fourteen days usually.

2 In fact when you have a septicemia, as this
3 was, you need to treat it at least fourteen days
4 before you know whether you're successful or not.

5 Q Doctor, have we covered all of your criticisms
6 of Dr. Steele?

7 A I believe so.

8 Q If you formulate any new opinions related to his
9 care and treatment before the trial of this
10 matter, will you notify us?

11 A Yes, I will.

12 MR. JACKSON: I don't have any
13 further question at this time.

14 THE WITNESS: Thank you.

15 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D.

16 BY MR. SEIBEL:

17 Q Do you in your practice refer patients to cardio-
18 vascular surgeons?

19 A Cardiac surgeons?

20 Q Yes.

21 A Yes.

22 Q Who do you refer to?

23 A Basically I send them to the Cleveland Clinic,
24 whoever happens to be available at the Cleveland
25 Clinic.

1 Occasionally I will also send to Alan
2 Markowitz, a doctor at Mt. Sinai.

3 Q And why would you refer a patient to Dr. Markowitz?

4 A Patient choice.

5 Q Do you offer the patient a documented list?

6 A Yes.

7 It depends on where their family physicians
8 are, their private internists.

9 Q Does Dr. Markowitz refer patients to you?

10 A Not really.

11 Q When you send a patient to Dr. Markowitz, do you have
12 any hesitation about his competence as a surgeon?

13 A No.

14 Q Is there any overlap between the specialties of
15 cardiothoracic or cardiovascular surgery and
16 your particular specialty?

17 A Sometimes.

18 Some cardiac surgeons do vascular surgery,
19 peripheral vascular surgery; some don't. So
20 in the sense that a cardiac surgeon does vascular
21 surgery, that's the overlap primarily.

22 Q Is there anything inappropriate about a cardio-
23 vascular surgeon doing peripheral vascular surgery

24 A It depends on their training.

25 Q Are you going to appear live at trial to testify?

1 A I'm not sure.

2 If I'm asked to, I guess I will.

3 MR. KAMPINSKI: I hope so.

4 THE WITNESS: Oh, okay.

5 Q During cardiac arrest what happens to the brain?

6 A During cardiac arrest you have an inability --
7 the heart isn't pumping, it arrests.

8 So you are not perfusing the body, including
9 the brain. So the brain doesn't receive oxygen.

10 And if the period of lack of perfusion
11 persists for a long period of time there can be
12 cell death because of lack of oxygen.

13 Q How long does it take before there is cell death
14 in the brain due to lack of oxygen?

15 A It's very variable. It depends on the individual's
16 own tolerance, meaning their cells' tolerance to
17 lack of oxygen versus other parameters, in ambient
18 temperatures, things of that nature.

19 Kids who, you know, slip under the water
20 and are dead or drowned for thirty minutes wake
21 up with no cell damage.

22 Some people can have four or five minutes
23 of anoxia with significant or severe brain damage.

24 And it's really that variable.

25 Q What is 'medical clearance'?

1 A Basically I think what you're referring to is
2 the policy whereby a patient --

3 You are talking about for surgery?

4 Q Sure.

5 A A patient who is about to undergo a major
6 operation has the internist or family practitioner
7 or the person in charge of the basic medical
8 care evaluate him and then tell -- usually it's
9 the anesthesiologist, that this patient is able
10 to undergo the operation that is proposed,
11 physically undergo it within a reasonable safe
12 outcome.

13 Q And why is that term important to you in your
14 role as a surgeon?

15 A To be honest with you, in my role as a surgeon,
16 I don't worry too much about medical clearance.
17 It's the anesthesiologists who do.

18 I usually know whether my patients are
19 capable of undergoing the operation or not.

20 I will never propose a patient to an operatio
21 that I feel shouldn't have one, I don't care
22 what the internist says.

23 Q Under what circumstances would you, as a surgeon,
24 postpone surgery for a patient who has specificall
25 received medical clearance?

1 A If I feel the patient is not a good risk; for
2 instance, ongoing medical problems that developed
3 in the period just before I operate, myocardial
4 infarction within the first thirty days. Elective
5 operation certainly. I am talking about elective

6 For instance, I had a patient yesterday who
7 was sent to me by his internist to have his gall
8 bladder removed. But the patient was passing
9 blood in his urine. I cancelled that. I felt
10 that was an acute condition. I wanted to know
11 what was the etiology for that and I stopped the
12 surgery.

13 That patient was cleared medically.

14 So there's a whole host of things that can
15 happen that I feel need to be explained before
16 I was going to operate.

17 Q When we talk about this peri-MI period, that
18 lasts for thirty days after the MI?

19 A There's several periods. There's thirty days
20 and there's six months, and there's a year.

21 Usually they break up into those time frames

22 Q Without any hemodynamic instability can a patient
23 undergo elective surgery thirty days after an
24 MI?

25 A Variable.

1 Q When is the first time a patient can undergo
2 elective surgery after an MI without any
3 hemodynamic instability?

4 A If it's purely elective and had not been revascu-
5 larized; that is to say, the heart hasn't been,
6 they had an MI and then they had to have a
7 coronary bypass, that is a different situation.

8 But somebody who has a myocardial infarction
9 that are going to have anything done to their
10 heart to increase pulmonary blood flow, I would
11 wait at least three to six months before I
12 would perform an operation.

13 I would wait six months. It's a purely
14 elective operation.

15 Q Is the removal of the wires in Mrs. Weitzel's
16 case purely elective or is it something different?

17 A I think at this point it was elective or purely
18 elective in Mrs. Weitzel, I am sure.

19 Q Are you saying then that if the second wire
20 could not have been removed percutaneously, she
21 should have waited at least three months to have
22 the wire removed surgically?

23 A Yes, provided that she was recovered from all
24 the other things.

25 I probably would have waited six months if

1 it wasn't causing any problems.

2 Q Why was the time right for Dr. Steele to attempt
3 percutaneous removal of the wires on March 13th?

4 A Because he got it out without any problems. And
5 that's really -- I don't mean to be facetious
6 about it, but that's why it was right.

7 I mean, given the options, I wouldn't have
8 taken it out even that night.

9 But the fact of the matter is, he felt
10 the wire needed to come out, he could do it in
11 a minimally invasive manner, did do it, and
12 without any sequelae, so in that respect it was
13 right.

14 And there were certain negating circumstances.

15 I mean, she did have a positive blood
16 culture.

17 And she was getting better in his estimation,
18 according to the notes anyhow, so he thought he
19 could safely do that.

20 Q What were the risks to Mrs. Weitzel of leaving
21 the remaining wire in after March 13th?

22 A We talked about the infection, embolis and
23 air pressure.

24 Q Any others, besides those?

25 A I can't really come up with any right now.

1 Q What is atherosclerosis?

2 A Hardening of the arteries.

3 Q What health risks does atherosclerosis pose to
4 a patient?

5 A Atherosclerosis is a very general term.

6 We all have atherosclerosis.

7 They did a study on Vietnam casualties,
8 twenty-five-year-old men. They looked at their
9 coronary arteries of the men killed in combat
10 and they found hardening of the arteries in
11 fifty percent of them. Significantly measurable.

12 Did those people have any significant
13 problems? No.

14 So it's a whole gamut of possible problems
15 and it depends on the individual.

16 Certainly for symptomatic, angina pain,
17 in connection with the heart, myocardial
18 infarctions secondary to ischemia, aneurysms,
19 those kinds of things, those have recognized
20 risks which are discussed in the literature and
21 has the significance of them.

22 Q In terms of atherosclerosis in the peripheral
23 vasculature, what is the risk to the patient
24 there?

25 A Same thing. Carotid arteries become narrow and

1 you get strokes. Arteries can become aneurysmal
2 and they can rupture. Quadriceps distally become
3 narrowed and maybe cause ischemia -- that's lack
4 of blood flow -- pain with walking, pain at rest,
5 gangrene.

6 Q In your practice do you do a procedure called
7 a 'carotid endarterectomy'?

8 A Yes.

9 Q What is that procedure?

10 A It's a removal of the disease from the inside of
11 the carotid artery that goes up to the end, up
12 to the forward portion of the brain whereby you
13 open up the artery, remove the diseased material,
14 the atherosclerosis, which is called 'plaque',
15 and then repair the artery.

16 Q And why would you do such a procedure for a
17 patient?

18 MR. KAMPINSKI: Can I interject and
19 ask why you are going into these? Do they
20 have some relationship to this particular
21 case?

22 MR. SEIBEL: Yes.

23 We are talking about a carotid artery,
24 aren't we?

25 THE WITNESS: No, we aren't.

1 Maybe we are.

2 MR. SEIBEL: Doesn't it have
3 something to do with Mrs. Weitzel's carotid
4 artery in some respect?

5 That's where at least one end of the
6 wire was as far as I know.

7 MR. KAMPINSKI: I apologize. I see
8 what you are saying.

9 Q Why do you do a carotid endarterectomy on a patient
10 with atherosclerotic plaque in the carotid artery?

11 A To prevent stroke.

12 Q Well, what is the mechanism of a stroke in a patient
13 with atherosclerotic plaque in the carotid
14 artery?

15 A If the plaque is a 501, that is to say, usually
16 breakable, it can break off and embolize the
17 brain and causes strokes. That's symbolic.

18 The other type is occlusive, the narrowing
19 can totally thrombose the artery, leading to
20 stroke.

21 Q What causes the plaque to break off and travel
22 to the brain?

23 A The nature of the plaque.

24 Q Why would the remaining wire eventually have to
25 come out?

- 1 A As we indicated before.
- 2 Q To avoid the risks?
- 3 A Correct. Not just the risks; to avoid the actual
4 complications.
- 5 Q Would it have been safe for Mrs. Weitzel to
6 begin walking with any wire in her arteries?
- 7 A I can't answer that. I don't know.
- 8 Q With respect to the risk of embolization with the
9 wire in, what would have been the mechanism of
10 embolization?
- 11 A Either the wire itself could embolize, meaning
12 travel, or you could form clot on the wire. The
13 wire is initis for clot formation. And then as a
14 result, the clot breaks off and embolizes.
- 15 Q Is there also a risk inherent in causing
16 plaque to break off and travel to the brain as
17 well?
- 18 A Possibly.
- 19 Q And what about the risk of perforation?
20 Describe that for me.
- 21 A The wire is a sharp object and it's steel, and
22 the wire could actually erode or get into the
23 bloodstream and travel in such a direction as
24 to perforate through the artery, causing
25 significant bleeding, injuring the surrounding

1 structures.

2 Q Does the aorta bend when the patient bends?

3 A To a small degree, yes.

4 Q To how many degrees?

5 A I don't know.

6 Enough that you could bend yourself in half
7 and it won't break or occlude.

8 Q But it does move with the patient?

9 A Yes. I mean, everything moves. There is
10 nothing to break except your bones where there
11 is no joint.

12 Q By the time that Dr. Steele consulted Dr. Moasis
13 on March 13th, was it reasonable for Dr. Moasis
14 to conclude that Dr. Steele had exhausted
15 percutaneous alternatives to remove this wire?

16 A I think he should have asked the question.

17 Q Assume the answer was yes; could he assume then
18 that percutaneous removal methods had been
19 exhausted?

20 A Well, if the question was asked, have you
21 exhausted all methods of removing the wire and
22 the answer was yes, then the answer is yes, you
23 could assume that.

24 Q What was Dr. Moasis's obligation to follow Mrs.
25 Weitzel postoperatively?

1 A His obligation was to make sure his patient was
2 doing well, was stable or to have a sufficient
3 mechanism established whereby he was notified
4 of his patient's condition.

5 Q And what would have been a sufficient mechanism
6 for that?

7 A Resident coverage, nursing coverage, his own
8 calling in to the post-anesthesia care unit
9 or the I.C.U., wherever she was, and ascertain
10 what was going on.

11 Q Either one of those would have been okay?

12 A Any one of those would have been okay.

13 Q What if there's a breakdown in the nursing
14 coverage or the resident coverage, is Dr. Moasis
15 liable for that?

16 A No, I don't think so.

17 Q When was the first indication -- and I think you
18 answered this, I beg your pardon if it's repeti-
19 tive -- when is the first indication postoperative-
20 ly in the records that Mrs. Weitzel became
21 hemodynamically unstable?

22 A Postoperatively?

23 Q Right.

24 A Well, there were a very few notes postoperatively
25 by anybody.

1 The nurses' notes at 2000 hours definitely
2 indicated instability.

3 Q You looked at a critical care flow sheet that was
4 dated March 14, 1991.

5 A Correct.

6 Q It had an entry at 1600 hours?

7 A Yes, it does.

8 Q Now if you assume, and I'll ask you to assume
9 this for purposes of this question.

10 If you assume that those are vital signs
11 taken upon her arrival to the coronary care unit,
12 do those vital signs reflect hemodynamic
13 instability?

14 A Not really, no.

15 Q What should have happened once Mrs. Weitzel became
16 hemodynamically unstable on the evening of her
17 surgery on March 14th?

18 A The attending certainly should have been called
19 and then the person immediately available to
20 come to the unit should have been called to
21 come to the unit.

22 In this case I assume it to be the resident.

23 Q In your opinion, Dr. Pitluk, if Mrs. Weitzel
24 had received appropriate care when she became
25

1 hemodynamically unstable after surgery, would
2 she have survived?

3 A Yes, she would have.

4 Q When foreign objects are left inside the body,
5 what tends to happen?

6 A We're talking about steel here?

7 What are we talking about?

8 Q This guide wire particularly.

9 A Three things that we talked about.

10 Q I'm curious about a process -- I don't know if I
11 am pronouncing it correctly -- epithelialization.

12 What is that?

13 A Epithelialization is a coating of surface with
14 epithelium, which is the lining of blood vessels,
15 the cells, type of cell.

16 Q So that process can take place within an artery?

17 A Partially, sure.

18 Q How long does it take that process to happen?

19 A It just depends on the type of -- usually
20 epithelialization, in fact always epithelialization
21 takes place from an epithelial -- actually an
22 endothelial surface to an endothelial surface.

23 That is to say, if you damage the lining
24 of an artery, it can bridge the damage, the
25 inside lining, by putting epithelium down.

When you put a foreign body in, however,

1 you don't get epithelialization if you have a
2 foreign body per se, unless it's sticking into
3 the wall, the end of the epithelium -- endothelium.

4 It's the same thing. Epithelium is the
5 skin. Endothelium is the lining of the artery.

6 So that in that part where the wire may be
7 sticking into or immediately adjacent to the artery
8 wall, you could get some epithelium or endothelium
9 coating.

10 Usually what you get is fiber, a scar if you
11 will, a false lining. It's not endothelium.

12 And that acts as an area that can cause
13 narrowing, a source for bloodclots to form.

14 Q Why does the body respond that way to a foreign
15 body?

16 A Because to wall it off from the area that is in
17 circulation.

18 Q What factors are needed to change to make Mrs.
19 Weitzel a candidate surgical removal of the wire?

20 MR. KAMPINSKI: I think they was
21 asked and answered.

22 THE WITNESS: It was, but if he
23 wants it again

24 A She needed to be further along from her myocardial
25 infarction, six months in my estimation, she

1 needed to be hemodynamically stable, not to be
2 septic, and she was, she needed to be off the
3 ventilator I felt, just improved, recovered from
4 this whole episode.

5 Q In your medical expertise what would have happened
6 to Mrs. Weitzel if the wire would have perforated
7 the artery it was in?

8 A It depends where.

9 Q Carotid.

10 A It went fifteen inches.

11 Q Carotid.

12 A Yes. She probably would have had a stroke.

13 Q What would have happened to Mrs. Weitzel if as
14 a result of the wire a piece of atherosclerotic
15 plaque had embolyzed to her brain?

16 A Same answer; a stroke.

17 Q Do you have an opinion as to the probable
18 consequences of that stroke to Mrs. Weitzel?

19 A No.

20 Q What would have been the reasonable range of
21 consequences?

22 A Death on the one extreme to neurological deficit
23 which completely recovers over time to the other
24 extreme, and everything in between.

25 Q Is there a relationship between calcific

1 atherosclerosis in the coronary arteries and
2 calcific atherosclerosis in the peripheral
3 vasculature?

4 A The relationship is that atherosclerosis,
5 whether it's calcific or otherwise, affects
6 the entire body, the arteries in the entire body,
7 so that when you have it in one place, you always
8 have it someplace else too.

9 Q Assuming that now we are six months out from the
10 MI and that Mrs. Weitzel is no longer septic and
11 is hemodynamically stable, and further assume
12 that she needs surgical removal of the wire,
13 what are the risks to her in surgery for the
14 removal of the wire?

15 MR. KAMPINSKI: Objection.

16 A We asked that earlier.

17 Basically I said the risk of any operation:
18 Bleeding, infection, possible injury to structures,
19 all the risks, anesthesia risk, death.

20 Q In the one case where you were involved in a
21 surgery to remove a guide wire, did you actually
22 perform the surgery?

23 A No. That was my patient I was involved in.

24 It was a cardiac procedure that I did not
25 participate in, no.

1 Q Do you have an opinion as to the cause of
2 Mrs. Weitzel's thromocytopenia?

3 MR. KAMPINSKI: Before surgery.

4 I think this has been asked and
5 answered.

6 THE WITNESS: Yes.

7 A I said most likely the sepsis.

8 MR. KAMPINSKI: There is very little
9 that hasn't been asked or answered.

10 Q The same for the fever and the positive cultures?

11 A Right. Well, the positive culture went to the
12 sepsis.

13 Q Did Dr. Moasis choose the proper approach to
14 reach the iliac artery?

15 A Yes, it's an acceptable approach.

16 Just didn't time it right.

17 Q Do you have any opinion that Dr. Moasis's actual
18 operative techniques were substandard?

19 A I don't have an opinion on that, no.

20 Q Okay. How then to a reasonable degree of
21 medical probability did Dr. Moasis deviate from
22 accepted standards of care for a surgeon in his
23 specialty?

24 A Number one, by performing an operation that
25 should not have been performed on this critically

1 ill patient in the peri-myocardial infarction
2 period, number one. And number two, in her
3 particular situation when she was septic,
4 thromocytopenic, with a non-life-threatening
5 problem being guide wires in the iliac up to
6 the carotid artery.

7 And the other criticism that I have is the
8 fact that postoperatively he could have -- should
9 have actually followed his patient more carefully,
10 someone as critically ill as she was and called
11 at least, called once to see how she was doing.

12 Q Do you know whether or not Dr. Moasis saw Mrs.
13 Weitzel after the surgery?

14 A It was my understanding that he did not.

15 Q Do you see any evidence in the records that you
16 reviewed that he did not see her?

17 A No.

18 Q Did you read his deposition?

19 A Yes, I did.

20 Q And if he had gone to see her in the postoperative
21 period before he left the hospital that evening,
22 would that be acceptable medical care?

23 A Yes.

24 Q If the wire had become initus of infection,
25 would that have posed a life-threatening risk

1 to Mrs. Weitzel?

2 A Possibly, yes.

3 Q But for the wires being left in Mrs. Weitzel's
4 vasculature, would she have died prematurely?

5 A I think I've said no. I don't know if she would
6 have.

7 Certainly I think she would have recovered
8 from this episode and left the hospital and gone
9 and lived a long time.

10 Q Have you stated, Dr. Pitluk, every way that you
11 feel that Dr. Moasis breached standard of care
12 involved in this case?

13 A I believe I have, yes.

14 Q I want to ask you a variation of the question I
15 asked before and I apologize for not covering
16 this aspect then.

17 If Mrs. Weitzel had received appropriate
18 care from the nurses and the hospital residents
19 in the postoperative period, would she have
20 survived and lived a normal quality of life as
21 well?

22 MR. KAMPINSKI: Why is it a variation?

23 It's the exact same.

24 MR. SEIBEL: I asked survival
25 before.

1 A You want quality of life now?

2 Q Right.

3 A I think I have answered that.

4 I thought she would have survived and lived
5 a normal quality of life had she survived this
6 hospitalization. So had the nurses and the
7 residents done what they were supposed to do,
8 I think she would have survived, and therefore
9 she would have survived and lived a normal,
10 reasonable quality of life, yes.

11 Q And is it your opinion that the hospital nurses
12 and residents in the postoperative period were
13 negligent?

14 A Yes.

15 MR. SEIBEL: That's all I have.

16 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D. RESUMED

17 BY MR. COYNE:

18 Q You indicated that had the nurses and/or residents
19 observed this hemodynamically unstable condition
20 at approximately 8:00 P.M., they should have
21 notified the attendings and then if appropriate
22 care were rendered you think she would have
23 survived; that was one of your answers, is that
24 correct, Doctor?

25 A That's correct.

1 Q Okay. Now the appropriate care with her bleeding
2 internally would have been what?

3 Further surgery?

4 A Not necessarily.

5 The appropriate care for her would have been
6 to give her fluids and get her blood pressure up,
7 stabilize her hemodynamic condition and get blood
8 into her, if that was the case, then she would
9 have probably been transfused. And she may not
10 have needed any more than that. That could have
11 been enough to stabilize the condition and the
12 bleeding would have stopped.

13 The problem, again, as I indicated, was
14 that in conjunction with an already severely
15 damaged heart or I should say, the myocardial
16 infarction, that's the reason you don't operate
17 on these people, because small problems can lead
18 to big disasters.

19 Q I understand why you don't operate on them.

20 But I'm saying, had they come back, if the
21 bleeding was internal --

22 A Well, it was in the iliac artery.

23 Q Right. And had they not been able to control
24 that with blood supplements, et cetera, then
25 they would have had to go in and surgically

1 correct that condition that was causing the
2 bleeding, correct?

3 A Yes.

4 Q That would have been another trauma to this person
5 who was already a poor risk for surgery, correct?

6 A One was an emergency and one was elective, but
7 yes, they would have had to go in.

8 Q And the chances of her surviving that I assume
9 would be much less than even the initial surgery
10 because she would have already been compromised
11 once?

12 A I can't answer that. I don't know if it would
13 be much less or the same less or --

14 Q Another substantial risk at least?

15 A Yes.

16 Q Just rehearsal of the chain of command, Mr.
17 Jackson was asking you about starting at the
18 bottom of the chain of command -- if we start at
19 the top, the attending would be the person who
20 is mainly responsible for the care and treatment
21 of the patient, correct?

22 A As I indicated, yes.

23 Q Then you would go down to the consultants for
24 their area of specialty underneath the attending?

25 A No; consultants aren't subservient to anybody.

1 If you call a consultant in, you consult
2 with them.

3 There's different ways to consult people.
4 You consult them for management. You consult
5 them just for opinions. You can consult them
6 for opinion and management. You can consult them
7 and say, take over.

8 It depends on how you consult somebody

9 Q But the consultants in their area say, whatever
10 that would be pulmonary, infectious disease,
11 would certainly be over the residents?

12 A Oh, yes. Any attending is over a resident

13 Q Okay. Relative to the radiologist, I got a little
14 lost there and I want to ask you ...

15 Did the radiologist's treatment comply and
16 comport to reasonable and acceptable radiology
17 care?

18 MR. SEIBEL: Objection.

19 A They did the procedures and they reported it.
20 So that's reasonable.

21 I feel they could have done more, but I don't
22 think it's germane to this conversation.

23 What they did was acceptable.

24 MR. COYNE: I have no further
25 questions.

1 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D. RESUMED

2 BY MS BITTENCE:

3 Q I noticed on your C.V. which I didn't see before,
4 No. 10 I believe, 'Referred Journal Articles'.

5 It says accepted for the Journal of Cardio-
6 vascular Surgery.

7 Has that been published?

8 A May I see it, please?

9 Which one?

10 Q The last one.

11 A Yes.

12 Q Do you know when it was published?

13 A Last year or a year and a half ago, something of
14 that nature.

15 Q Doctor, in the last twelve months have you
16 testified in depositions other than this one?

17 A Yes.

18 Q And approximately how many?

19 A Three, four depositions, yes.

20 Q Have you testified in trial in the last twelve
21 months?

22 A Yes.

23 Q How many?

24 A Once. I believe it was once.

25 Once or twice.

1 Q In the trial testimony had you been retained by
2 plaintiff's counsel or defense counsel?

3 A In that one it was a plaintiff's counsel.

4 Q And was that in a medical malpractice case?

5 A Yes.

6 Q And in the deposition testimony had you been
7 retained by plaintiff's counsel or defense counsel
8 or both?

9 A Both primarily.

10 I think it was one plaintiff and three
11 defense.

12 Q And what kinds of cases were those?

13 A Medical malpractice.

14 If I testify, that's all I testify in.

15 Q Throughout your career as a physician how many
16 times have you testified in either deposition
17 or trial, approximately?

18 A Twenty times.

19 Q And what percentage, again approximately, has
20 that been for plaintiff or defendant?

21 A Ninety percent for the defense; maybe ten percent
22 for the plaintiff.

23 Q And has that been here in town?

24 A Yes.

25 Q Or Greater Cleveland area?

1 A The majority of my work was for Jacobson, Maynard,
2 Tuschman and Kalur.

3 Q Doctor, have you been asked to render an opinion
4 with respect to the training at the Cleveland
5 Clinic in the trial of this case?

6 A No.

7 Q Do you intend to render such an opinion?

8 MR. KAMPINSKI: Other than what he's
9 testified to.

10 I don't understand your question.

11 MS BITTENCE: Well, his original
12 report didn't touch on training at all with
13 respect to the Cleveland Clinic's program,
14 so from his original report we don't know
15 clearly who he is talking about there.

16 MR. KAMPINSKI: Well, he's told
17 you he just got the logs after his report.

18 MS BITTENCE: I simply asked him --

19 A Are you asking me, am I going to evaluate the
20 Cleveland Clinic's program?

21 Q Right.

22 A No, only in the general terms that I did here.

23 Is that what you mean or --

24 Q No. I guess I don't know what you mean by in
25 the general terms that you did here.

1 A Well, the questions you asked.

2 Q Let me put it this way.

3 Do you have criticism of the Cleveland
4 Clinic's training program that you intend to
5 render at the trial in this case?

6 A No more than the questions that were asked me.

7 I mean, that's what I mean. I'm not going
8 to come in there and say I want to criticize
9 the Cleveland Clinic --

10 Q I understand that.

11 A -- if that's what you are asking.

12 Q But I was asking the question. I didn't have any
13 opinion.

14 What are your criticisms of the Cleveland
15 Clinic training program?

16 MR. KAMPINSKI: Well, wait, wait.

17 If I can interject, and I'm just trying to
18 be helpful, you want to know what his
19 criticisms are with respect to Dr. Varma
20 in his particular level of training?

21 I mean, that's really the question.

22 He's not going to come in, as he said
23 a number of times, and say the Cleveland
24 Clinic program is good or bad, but how it
25 applied in this case.

1 MS BITTENCE: Well, I was going to
2 ask the general question and then the
3 narrow question, criticism.

4 MR. KAMPINSKI: He already said no.

5 MS BITTENCE: Well, let the Doctor
6 say it.

7 Q Do you have criticisms of the Cleveland Clinic
8 residency program?

9 A No.

10 Q Do you have criticisms of the Cleveland Clinic
11 residents program as it applied to Dr. Varma?

12 A Yes, as I specifically answered.

13 Q And what are those criticisms?

14 A That Dr. Varma should have been better trained
15 if he were going to be doing the procedures that
16 he was doing.

17 It's my understanding that when a resident
18 leaves the second-year level and goes to another
19 institution, he supposedly has a certain number
20 of procedures at which he is proficient, meaning
21 to perform these procedures. Dr. Varma didn't
22 have that.

23 Now whether or not Charity should know it
24 or not, that's something as I said, I think the
25 individual people should know what the

1 capabilities of the residents are.

2 But certainly if the Cleveland Clinic has
3 a standard by which after a certain time period
4 their residents are supposed to have proficiency,
5 he didn't have it, the Cleveland Clinic would
6 be missing those then

7 Q Are you saying then that because he did this
8 procedure on February 26, 1991 incompetently
9 then he was not competent trained?

10 A That's a legal decision as far as I am concerned.

11 That's something you are going to have to
12 decide and the Judge is going to have to decide

13 Q To find out what you are saying on the deposition?

14 A Yes.

15 Q In what way was he incompetently trained?

16 A He did not know how to do this procedure, number
17 one.

18 And number two, once he had problems he
19 never told anybody about those problems

20 You own up to your mistakes. You handle
21 your own problems. You don't cover them up

22 Q How do you know that he was not competently
23 trained to do the procedure?

24 A Well, the logbook doesn't show me any indication
25 that he's competently trained, not according to

1 the logbook anyhow, by the time he got to Charity.

2 Q If in fact he had done --

3 MR. KAMPINSKI: You already asked
4 this.

5 Q What you're saying, that the logbook doesn't show
6 he had actually done any.

7 A Yes.

8 Q If he had done some and had done them proficiently
9 in front of a, someone who is proficient.

10 A I heard what you are saying.

11 Certainly if he had done these procedures,
12 hypothetically, in front of somebody who was
13 qualified to assess that and say, hey, good job
14 done, you know how to do it, go ahead and do it,
15 and he went there and he did it and he had a
16 complication, that's acceptable. Complications
17 happen.

18 What's not acceptable is the fact that in
19 this procedure he lost two guide wires in the
20 patient, which he never should have done to begin
21 with, but he did it. But he didn't tell anybody.
22 That's not acceptable.

23 It's not acceptable in anybody's training
24 program, that kind of behavior.

25 Q Why do you base that behavior came from the

1 training program?

2 MR. KAMPINSKI: He just told you.

3 MS BITTENCE: No, he didn't.

4 A A training program has a responsibility of
5 assessing their resident. Not just in their
6 technical abilities, but in their moral character,
7 in their cognitive skills, in proficiency, and
8 you need to know who you're dealing with.

9 If you have a psychopath in there -- and
10 this is an example, I'm not saying that Dr. Varma
11 is psychopathic, I'm just saying, if you have
12 somebody's who is mentally incompetent, for
13 whatever reason, and he can be the greatest
14 technician in the world, you get rid of that
15 person, because being a doctor in a training
16 program isn't just sticking in lines and spitting
17 out answers.

18 Q And on what do you base your opinion that the
19 Cleveland Clinic doesn't do that?

20 A I didn't say the Clinic doesn't do that. I said
21 in Dr. Varma's case they didn't do that.

22 Q And on what do you base your opinion that in
23 Dr. Varma's case they didn't do that?

24 A By the fact that there was no record that I'm
25 aware of, of his having put these lines in before

1 January 27, 1990, by the fact that once a problem
2 did occur where two lines are left in a patient,
3 he never told anybody, the fact that they look
4 at his record, there was a recent unsatisfactory
5 evaluation by Dr. Jeffrey Olin in the peripheral
6 vascular department about some strange behavior
7 and some inability to comprehend what was going
8 on and not complying with some direct orders or
9 direct teaching that was given to him.

10 I mean, there was something going on here
11 that I feel was not appropriate.

12 MR. KAMPINSKI: Excuse me, you said

13 '90. You mean '92, January 27th.

14 THE WITNESS: No; '91.

15 A '91, when he was at Charity Hospital.

16 Those are the kinds of things I am talking
17 about.

18 Q And that's what I'm asking about.

19 Dr. Olin's report, the fact that he didn't
20 tell anyone about losing the guide wires.

21 Those are the three I heard.

22 A Oh, yes. The fact he lost guide wires too shows
23 obvious poor training.

24 Q In your opinion is it possible to do a procedure
25 incorrectly after being correctly trained and

1 just be distracted that day?

2 A Twice in a row, no.

3 Q In your opinion, the fact that he did this
4 proves to you that he was not correctly trained?

5 A Within a reasonable degree of probability, yes.

6 Q And I think I did ask, but let me just make sure
7 you have answered my question, that is: Are you
8 aware of the procedures in the residency training
9 program at the Cleveland Clinic?

10 A Medical residents' training?

11 Q Yes.

12 A Not specifically, no.

13 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D. RESUMED

14 BY MR. WARNER:

15 Q As I understand, Doctor, Mr. Coyne asked you
16 questions as far as the radiologists were
17 concerned.

18 A You said they could have done more, and
19 what they did do you thought was acceptable.

20 A The actual procedure was acceptable. The
21 communication I feel was unacceptable.

22 Q The reporting is not acceptable, putting it in
23 the report?

24 A Give me a date.

25 Q March 1st, March 7th?

1 A No, that's not acceptable.

2 They said the wire may be on the chest, it
3 may be in the chest or in the abdomen.

4 We don't know clinical correlation.

5 If I recall, one of the radiologists went
6 up and did an ultrasound of Mrs. Weitzel's abdomen
7 somewhere between the 1st and the 9th -- or the
8 8th, and this is a doctor I believe who had read
9 one of the x-rays, reporting on a possible wire
10 being inside the abdomen or on the abdomen.
11 She got up there. There was nothing on the
12 abdomen. I think at that point she should have
13 realized it was in the abdomen.

14 Q I think you already testified that the delay in
15 the removal of the wires did not cause any
16 additional complications.

17 A That's true.

18 MR. WARNER: Thank you.

19 CROSS-EXAMINATION OF HOWARD C. PITLUK, M.D. RESUMED
20 BY MR. SEIBEL:

21 Q You indicated in your report that Dr. Steele had
22 a, quote, almost blatant disregard for Mrs.
23 Weitzel. I want to know what you mean by that.

24 A Again as I said, I just feel that the -- this is
25 a young woman-- I think she's only forty-seven,

1 she's looking younger all the time -- who comes
2 in with a real serious problem and survives it,
3 and survives it because of a lot of good care
4 by Dr. Steele. I think they worked hard on her.

5 And then something's happened and then they
6 find this disaster, there's two wires left in
7 her that were placed in her. And she's having
8 a lot of problems again. She becomes septic.
9 She has one wire taken out. And he takes one
10 out but at that point for some reason he doesn't
11 put it all together. I mean, he's worked so hard,
12 but he's got a woman, he knows how sick she is.
13 He knows she had a PMI recently. He knows she's
14 getting septic. He knows that there's another
15 wire left in her.

16 I don't understand why he let the surgeon
17 or even consulted a surgeon and said, go in and
18 do this.

19 And even after a surgeon did it, he didn't
20 even call up and say, well, how's she doing?

21 He said, well, it's his problem now.

22 That's what I mean by that.

23 Q Just to clarify, your comment about almost
24 blatant disregard goes more towards the post-
25 operative period?

1 A And the actual decision on his part not to
2 pursue radiology, not to pursue Dr. Kitchen and
3 offer an operation to a patient who I think he
4 should know and does know shouldn't have an
5 operation.

6 Q Let me put it this way then.

7 Your comment regarding almost blatant
8 disregard of Mrs. Weitzel relates to Dr. Steele's
9 care after he performed the percutaneous removal
10 on 3/15?

11 A Basically, yes.

12 MR. SEIBEL: Then I don't have any
13 more questions.

14 MR. COYNE: Do you want to
15 waive signature?

16 THE WITNESS: I think I want to
17 read it.

18 - - - - -
19
20
21
22
23
24
25

I, Howard C. Pitluk, M.D., do hereby certify that I have read the foregoing transcript of my deposition this _____ day of _____, 1993, and believe the same to be true and correct (or, except as follows, noting the page and line number of the change or addition as desired and the reason why):

PAGE	LINE	CHANGE
------	------	--------

Dated this day of , 1993.

CERTIFICATE

1 The State of Ohio,)
2) SS:
3 County of Cuyahoga.)

4 I, Marie L. Larbig, a Notary Public within and for
5 the State of Ohio, duly commissioned and qualified, do
6 hereby certify that the above-named HOWARD C. PITLUK,
7 M.D. was by me, before the giving of his deposition,
8 first duly sworn to testify the truth, the whole truth,
9 and nothing but the truth; that the deposition as above
10 set forth was reduced to writing by me by means of
11 Stenotypy, and was later transcribed into typewriting
12 by me, and is a true record of the testimony given by
13 the witness; that said deposition was taken on Friday,
14 the 19th day of March, A.D. 1993, in the City of
15 Cleveland, County of Cuyahoga, and State of Ohio,
16 pursuant to notice, and was completed without adjourn-
17 ment; that I am not a relative or attorney of any of
18 the parties or otherwise interested in this action.

19 IN WITNESS WHEREOF, I hereunto set my hand and
20 affix my seal of office at Cleveland, Ohio, this _____
21 day of March, A.D. 1993.

22 Marie L. Larbig, Notary Public
23 within and for the State of Ohio
24 My Commission expires July 22, 1993.
25

HOWARD C. PITLUK, M.D. - DEPOSITION INDEX

- 4) 60 Basswood Lane, Cleveland, Ohio.
- 5) ^{Teaches} ~~Tran~~ches, one day per week. Vascular surgery.
- 6) Never testified for us before.
- 8) Has contact w/patients who have had cardiac arrests.
- 11) Ventricular fibrillation is inefficient contraction of the ventricles.
- 12) No way of knowing if being defibrillated 15-17 times leads to permanent heart damage. She had better than 50% chance of survival as of 02/12/91.
- 15) She did not have any significant anoxic brain damage prior to 02/26/91.
- 20) Greater than 50% survival even w/ARDS.
- 21) No organ failure.
- 22) Leaving two wires in a patient is substandard care.
- 24) No opinion if the wires by themselves caused injury to Mrs. Weitzel between 02/26 and 03/13/91.
- 26) Varma caused pnuemothorax by attempted placement of the subclavian catheter.
- 28) For the most part, patients who survive ARDS and several weeks on a ventilator do not have pulmonary function impairment afterwards.
- 29) Mrs. Weitzel not a candidate for invasive surgery on 3/14; Major reason was she was in perimycardial infarction period most likely septic, thrombocyloopenic and febrile.
- 30) Bleeding most likely occurred when she became hypotensive.
- 31) Surgery itself proximately caused her demise.
- 33) Varma only person involved in cover-up; ARDS did not contribute to her demise.
- 34) She had a compromised heart which couldn't sustain a 500 cc bleed.
- 36) Had the wires not been left in her, she would have been able to resume her lifestyle eventually.

37) Has had other patients critically ill, on ventilators for long periods of time, m.i. have done well:

38) Lynn Moore - Inserted many types of guide wires.

40) Inserts 100 guide wires per year.

41) Attending responsible to ensure that resident can appropriately place the guide wire.

43) Appropriate to have a junior resident insert guide wire, but not jr. residents like Dr. Varma. Varma obviously didn't know what he was doing, didn't have the appropriate experience from logs. One procedure not adequate, no indication that he had observation supervision during that experience.

44) Actual occurrence, multiple guide wires, nobody told^{all} indicates he didn't know what he was doing.

45) Training program has a responsibility to make sure their residents are adequately trained.

46) If somebody is on your service you should make sure you know what they are capable of.

47) Attending is ultimately responsible.

50) Never lost guide wire in patient; Seen it in other people's patients; Seen two or three guide wires shear off as you are with drawing them, patient required a thoracotomy.

53) Most widely accepted form of retrieval is by fluoroscopy.

54) Radial line attempted required procedure note.

57) Potential complications of retained guide wire are infection emboli formation and possible perforation.

58) Appears that no physician came in after the surgery; as soon as vital signs changed the attending surgeon should have been notified.

59) Don't agree with 60% mortality rate from ARDS.

WARNER

61) Would doubt very seriously that she couldn't be moved because of the ventilator.

62) MARY BITTENCE

63) 60% vascular surgery, 40% general surgery; Temporal relationship between placement of Swan-Ganz and pneumothorax lead to conclusion re: causation.

73) Resident needs to see it; do one with supervision and then do it. Number of times is dependant on the individual.

74) Needs more than one time for each.

80) Saw an X-ray with guide wires-looked like two wires.

JACKSON

80) Steele had responsibility to know the conditions of his patient to understand possible complications and to exercise appropriate judgment to make sure he did no harm to patient.

81) 1. Did not exhaust the modalities available to him to remove the 2nd guide wire percutaneously.

2. Subjected his patient to major operation in perimyocardial infarction period.

3. Should have communicated better with his colleagues.

4. Never saw patient after surgery.

83) Would think her heart doctor would be concerned about a patient who had major m.i. within a month who just had a major operation; Responsible for Varma, should have more closely supervised him.

89) 2nd wire close to first wire, if first could be extracted percutaneously, 2nd one probably could have been.

90) Wire had to come out eventually, not then.

91) If Varma would have told people what happened when it happened, then this wire could have been grabbed a little sooner.

93) Steele should have seen her within first 3-4 hours post-op.

94) If he saw her he would have noted she was becoming unstable. Pulse 141 BP down at 8:00 p.m., no notes between then and 4:00 p.m.

98) Don't criticize him for not being aware of changes in patient's condition which he should have been made aware of by someone else.

107) There are surgery criteria that should be met, stability and relationship to peri-myocardial infarction period. Decision to operate not a judgment call, question of good medical practice.

108) Mechanism of death was she bled, became hypotensive, had cardiac arrest.

109) Had she survived, she would have led a reasonably normal life, ask to resume working, function normally. Life would have been good quality - no limitations.

SEIBEL

115) Refers patients to Dr. Markowitz.

119) Removal of wires was purely elective.

120) Probably would've waited six months to remove wires if not causing a problem.

121) Atherosclerosis doesn't mean much unless it is symptomatic

125) Moasis should have asked if Dr. Steele exhausted all percutaneous removal methods.

126) Moasis obligated to make sure his patient was doing well, was stable or have mechanism established to notify him of patients condition. Very few notes post-operatively by anybody.

127) Notes at 1600 do not reflect hemodynamic instability.

128) If Mrs. Weitzel received appropriate care post-op then she would have survived.

132) Moasis negligent for performing an operation that should not have been performed on critically ill patient in perimyocardial infarction period when she was septic, thrombocytopenic with a non-life threatening problem of guide wires. Should have followed patient post-operatively either seen her or called once to check her condition.

134) She would have recovered and lived a long time.

135) Hospital nurses and residents were negligent in post-op period.

COYNE

138) Radiologist acted reasonably.

BITTENCE

140) Testified 20 times in his career, 90% for Defendants.

141) Majority of his work is for JMTK.

143) Dr. Varma should have been better trained.

144) He was incompetently trained. He didn't know how to do the procedure. Once he had problems he didn't tell anybody. Opinion based on log books.

146) Training program has a responsibility of assessing their resident, not just their technical abilities, but their moral character and cognitive skills. In Dr. Varma's case the clinic did not do that.

147) Opinion also based on recent unsatisfactory evaluation by Dr. Olin.

WARNER

148) Communication by the radiologist was unacceptable.

149) Delay in removal of the wires didn't cause any additional complications.

151) SEIBEL - Comment re: "blatant disregard by Dr. Steele" relates to care after percutaneous removal on 3/15.