

COURT OF COMMON PLEAS
CUYAHOGA COUNTY

- - -

RACHEL KOCH, a minor, et al.,)

Plaintiffs,)

vs.)

PETER SCOLES, M.D., et al.,)

Defendants.)

Doc. 361
Case No. 188233
Judge Calabrese

- - -

Transcript of deposition of HOWARD C. PITLUK,
M.D., witness herein, called by the Plaintiffs for
examination, pursuant to Notice and Agreement of
Counsel, pursuant to the Ohio Rules of Civil Procedure,
before Susan W. Talton, a Registered Professional
Reporter, a Certificate of Merit Holder and Notary
Public within and for the State of Ohio on Friday, May
13, 1994, at the offices of Howard C. Pitluk, 6801
Mayfield Road, Cleveland, Ohio, commencing at 9:30 a.m.
and ending 12:40 p.m.

- - -

MERIT REPORTING SERVICES
327 The Arcade
Cleveland, Ohio 44114-2402
216-781-7120

APPEARANCES :

Hermann, Cahn & Schnider
Kerry S. Volsky
1301 E. Ninth Street, Suite 500
Cleveland, Ohio
(216) 781-5515

on behalf of the Plaintiffs;

Jacobson, Maynard, Tuschman & Kalur, Co., LPA
Patrick J. Murphy
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio
(216) 736-8600

on behalf of the Defendants,
Drs. Krug, Hutton, Scoles;

Arter & Hadden
Kathryn L. Boselli
1100 Huntington Bldg.
Cleveland, Ohio 44115
(216) 696-1100

on behalf of Defendant, University Hospitals.

- - -

PROCEEDINGS

HOWARD C. PITLUK, M.D.,

Witness herein, called by the
Plaintiffs for examination, having been first
duly sworn, as hereinafter certified, was
examined and testified as follows:

EXAMINATION HOWARD C. PITLUK, M.D.

BY MR. VOLSKY:

Q. Would you state your name, please?

A. Howard Charles Pitluk.

Q. And your business address?

A. 6801 Mayfield Road, Mayfield Heights, Ohio.

Q. Doctor, I've reviewed a copy of a CV that was
recently provided to me by Mr. Murphy. Can you tell me
whether that is the most updated version on your vitae?

A. No. There's a few additions to this. I may have
one. Should I see if I have it?

Q. Yes; that would be great.

A. I've become even more famous since then.

MR. VOLSKY: Put that on the
record, please.

THE WITNESS: Tell you what, I
think my secretary has one. Shall I tell her to get
it?

MR. VOLSKY: That would be

1 great.

2 A. There's just a few additions. It's substantially
3 the same except I'm the president of the Cleveland
4 Vascular Society now, which isn't on there, and I'm
5 also on the Executive Committee of the Society for
6 Clinical Vascular Surgery, which is also not on there.

7 Q. Is Dr. Hutton on any of those societies?

8 A. I believe he's a member of the Cleveland Vascular
9 Society. I don't think he's a member of the Society
10 For Clinical Vascular Surgery, although he may be.

11 Q. Do you have any particular interest or
12 specialization in any area which is an issue in this
13 case?

14 A. I'm not sure what the issues are in this case
15 which, I am in vascular surgery.

9 43A 16 Q. Based on your view, do you have any particular
17 specialization or have you done any research or
18 anything that is an issue of this case as far as your
19 theory of an obstructive problem or compartment
20 syndrome?

21 A. Nothing over and above my usual training, no.

22 Q. Have you been involved in any lawsuits which
23 involve injury or disability resulting from the
24 surgical removal of a malformation in the upper or
25 lower extremity?

1 A. No.

2 Q. Have you ever been in a lawsuit where an
3 allegation was made that there was injury or damage
4 caused as a result of an evolving compartment syndrome?

5 A. No.

6 Q. Have you ever been involved in a case during your
7 medical training, or in your medical practice, where a
8 vascular malformation in a deep posterior compartment
9 was surgically removed?

9:44A 10 A. No.

11 Q. So you haven't removed any type of malformation
12 from a deep posterior compartment in your career?

13 A. No.

14 Q. Have you ever been involved in cases where a
15 compartment syndrome evolved as a result of a bleed?

16 A. Are you talking about legally or?

17 Q. No. No. In your practice.

18 A. In my practice?

19 Q. Yes.

20 A. Yes.

21 Q. How many times?

22 A. Oh, two or three times, perhaps, in the last 20
23 years.

24 Q. Now, in those two or three occasions, what was
25 the cause of the bleed?

1 A. Generally it was trauma.

2 Q. Any experience with a compartment syndrome from
3 bleeding caused by the use of an anticoagulant?

4 A. No.

5 Q. I assume that you, from your answer, that you
6 haven't been involved in a case where there's been a
7 bleed subsequent to surgery which evolved into a
8 compartment syndrome?

9 A. No.

10 Q. If one of your students came in and asked you to
11 refer them to authoritative sources in the medical
12 literature so that they can learn about the signs and
13 symptoms and diagnoses of compartment syndrome, what
14 sources would you refer them to?

15 MS. BOSELLI: Objection.

16 A. I would just tell them to read any of the
17 generalized textbooks in vascular surgery or some of
18 the treatises written in medicus literature index
19 on compartment syndrome.

20 Q. Are you familiar with the work of Mapsen?

21 A. No.

22 Q. Never heard of Frederick Mapsen who has worked on
23 compartment --

24 A. I believe I've heard of Frederick Mapsen. I'm
25 not familiar with his work.

1 Q. What about Dr. Whiteside?

2 A. Doctor, who.

3 Q. Whiteside?

4 A. No.

5 Q. You've heard of Whiteside's technique --

6 A. No.

7 Q. -- for measurements of compartment syndromes?

8 A. Are you referring to a split catheter for
9 measuring compartment pressures?

9:46A 10 Q. Yes.

11 A. I'm familiar with that. I've never called it a
12 Whitesides' technique.

13 Q. Now, before we started Doctor, you gave me what
14 were all the materials that you reviewed in this case
15 that are part of your file?

16 A. Correct.

17 Q. Have you reviewed any other materials that ,
18 weren't part of the file that you gave me to review?

19 A. I saw the venograms once. That are not part of
20 my file.

21 Q. Have you conducted any review of the literature
22 for purposes of this case?

23 A. No.

24 Q. Have you provided any literature to any of the
25 attorneys?

1 A. No.

2 Q. Were you provided with any literature by the
3 attorneys?

4 A. No, I wasn't.

5 Q. When was the last time you reviewed the materials
6 that are contained in the file?

7 A. Probably, I mean very thoroughly reviewed them
8 back when I wrote my letter in 1992. I have glanced
9 over them since then a couple times this morning for
10 about an hour. Previous time in a discussion with
11 Mr. Murphy, several months ago, I guess.

9:47A

12 MR. MURPHY: Yes.

13 A. That would be it.

14 Q. Have you reviewed any pathology in this case?

15 A. The actual slides?

16 Q. Yes.

17 A. No.

18 Q. Have you reviewed any radiological films other
19 than the venograms?

20 A. No. Just the venograms; right.

21 MR. MURPHY: That's all I had.

22 THE WITNESS: Yeah.

23 BY MR. VOLSKY:

24 Q. Did you read all the depositions that you were
25 provided?

1 A. That I have on my desk, yes.

2 Q. And that was just Dr. Hutton's deposition, is
3 that correct and Dr. Queraf's?

4 A. And Dr. Queral, yes.

5 Q. Would you agree that Compartment syndrome is a
6 known potential complication in surgery of the lower
7 extremity?

8 A. What do you mean surgery of the lower extremity?

9 Q. In surgery of a lower extremity, is the
10 development of a compartment syndrome a potential risk
11 of that surgery?

12 A. Generally speaking, it's not.

13 Q. Why is that?

9:48A 14 A. Because there's a lot of surgeries in the lower
15 extremity that can go on that don't have anything to do
16 with compartment syndrome.

17 Q. But compartment syndrome is one of the things
18 that you look for that can develop after a surgery?

19 A. Of the, you mean vascular surgery?

20 Q. In the lower extremity; yes.

21 A. It can develop in very specific instances, yes.

22 Q. What about in the deep compartment of the lower
23 extremity?

24 A. (No response)

25 Q. Is that something you would have to watch for?

1 A. If you're operating in the deep compartment,
2 you're saying?

3 Q. Right.

4 A. I suppose you would.

5 Q. Over what period of time can a compartment
6 syndrome develop post-operatively in that type of
7 surgery that we just talked about?

8 A. What -- we really haven't talked about the type
9 of surgery.

10 Q. What I'm talking about is in the lower extremity
11 in the deep compartments; over what period of time
12 post-operatively could a compartment syndrome
13 potentially develop?

14 A. Several days. Three or four days.

9:49A 15 So several days is up to several days; four
16 or five days, even.

17 Q. Once a compartment syndrome has developed, what
18 type of timeframe are we talking about before
19 irreparable damage or injury occurs?

20 A. It's variable depending upon how high the
21 pressures are and the acuteness of it. If there's
22 still ongoing problems causing the compartment
23 syndrome, if there hasn't been adequate decompression,
24 is a variable depending on the time.

9:50A 25 Q. Without adequate decompression, what is the

1 fastest time frame that permanent damage or injury can
2 occur?

3 A. Hours.

4 Q. Would you agree that compartment syndrome
5 requires prompt treatment and release in a reasonable
6 amount of time after it's diagnosed?

7 A. Yes.

8 Q. Would you agree that the failure to diagnosis a
9 compartment syndrome can be catastrophic?

10 A. Yes.

11 Q. Would you agree that one of the reasons that the
12 diagnosis of compartment syndrome is made difficult is
13 that other conditions may produce similar symptoms and
14 signs post-operatively?

15 A. Yes.

16 Q. What are some of those similar signs and
17 symptoms?

18 A. Of a compartment syndrome?

19 Q. Yes.

20 A. Signs and symptoms are pain, paresthesia, pallor,
21 pulselessness, edema, paralysis, bleeding. Those are
22 the major ones.

9:51A 23 Q. And some of those same signs and symptoms that
24 can occur post-operatively are not related to
25 compartment syndrome?

1 A. That's what I was going... these are signs that
2 are seen in both compartment syndrome and
3 non-compartment syndromes, but give you the similar
4 clinical picture.

5 Q. Would you agree that, with the definition of a
6 compartment syndrome, as being a condition in which
7 increased pressure within a limited space compromises
8 the circulation and function of tissues within that
9 space?

10 A. That's a broad definition.

11 Q. So what actually occurs is venous obstruction
12 caused by a buildup of pressure beyond outflow
13 pressures?

9:52A 14 A. Well, no. Just, not just venous but arterial
15 compromise, as well.

16 Q. Which gets compromised first?

17 A. Which get compromised first? Probably venous
18 because it's a lower-pressure system.

19 Q. And you could have a compartment syndrome,
20 couldn't you, and still have arterial flow through it
21 if the pressure is enough to stop the venous but not
22 enough to stop the arterial?

23 A. Correct.

24 Q. Does the medical literature, or does your
25 experience, indicate that one of the most important and

1 classic features of a compartment syndrome is
2 unremitting pain?

3 A. I would say, no; I mean, that's not one of the
4 classic features.

5 Q. You don't have to have that to have a compartment
6 syndrome, do you?

7 A. Unremitting pain?

8 Q. Unremitting pain.

9 A. No.

10 Q. Would you agree that compartment syndrome could
11 be developing, or could develop, with some, but not
12 all, of the classic signs and symptoms?

13 A. Well, you want to define classic signs and
14 symptoms.

15 Q. Ones you described.

16 A. I described symptoms and signs of, in response to
17 the question of non-compartment syndromes but --

18 Q. You want to give me what the classic signs of
19 compartment syndrome are that would be helpful?

20 A. Basically, in compartment syndrome you have pain
21 with motion, you have swelling of the extremity
22 involved, you have, usually peroneal nerve involvement
23 with foot drop. You have some paresthesia and
24 numbness.

25 Those are the general, classic symptoms you have.

1 You don't need to have all of them to have compartment
2 syndrome.

3 Q. Are some of the signs and symptoms usually more
4 apparent than others?

5 A. Depending on the individual case.

6 Q. So there's a great deal of variability among
7 those symptoms that you've described?

8 A. Yes.

9 Q. Are some of the symptoms later symptoms rather
10 than earlier symptoms such as, for example,
11 pulselessness?

12 A. Pulselessness would be a symptom; it could be
13 late, it could be early depending on how quickly the
14 syndrome is developing and how severe it is.

15 Q. Pulselessness is a sign that arterial flow is
16 somehow being compromised?

17 A. Usually that's correct. Sometimes it's because
18 there's so much edema you just don't feel the pulse but
19 it's still there.

20 Q. There is a pulse, you just can't feel it?

21 A. Right.

22 Q. Would you agree that peripheral pulses are
23 frequently normal in compartment syndromes because
24 intracompartment pressures are usually insufficient to
25 affect arterial flow?

1 A. Distally they're insufficient, yes; but in the
2 area where the actual compartment is involved, I'm sure
3 the arterial flow would be compromised.

4 Q. Distally?

5 A. Meaning lower down.

9:55A 6 Q. I understand. Distally, for the same reason
7 capillary refill could be good even though a patient
8 has compartment syndrome?

9 A. Possible. It's usually not the case, but it's
10 possible.

11 Q. Would you agree that it is a goal of physicians
12 caring for a patient to diagnosis a compartment
13 syndrome as early as possible?

14 A. If it's occurring you should try to.

15 Q. Are there not cases where the symptoms and signs
16 may be sufficiently ambiguous that a determination
17 cannot be made one way or the other whether a
18 compartment syndrome exists on clinical grounds only?

19 A. Yes.

20 Q. In those situations where you're not sure whether
21 a compartment syndrome is developing, but you also
22 cannot rule it out, does compartment syndrome become
23 part of your differential diagnosis?

24 A. If you think about it, I suppose it's part of
25 your differential; yes.

1 Q. If there are some but not all clinical signs of a
2 compartment syndrome and since an undetected
3 development of a compartment syndrome is potentially a
4 catastrophic event, I would assume that you would have
5 to continue to consider and watch for the possible
6 further development of a compartment syndrome until
7 such time that you could specifically rule it out;
8 would that be true?

9:57A 9 A. Yes.

10 Q. I would ask you to assume for the purposes of
11 this question that there are sufficient equivocal signs
12 so that a compartment syndrome has become part of your
13 differential diagnosis.

14 A. Okay.

15 Q. Once it does, how much time do **you** allow to rule
16 it out since if it is in fact a compartment syndrome,
17 permanent injury can result, as you've indicated, in
18 hours.

19 A. Anywhere from hours to days.

20 Q. So what do you, how long do you wait?

21 A. I wait until I feel certain that compromise is
22 occurring secondary to compartment syndrome and then I
23 act. The reason I say that is because to act means an
24 operation, which I don't do lightly, especially when
25 it's such a gray area, as we pointed out, so many other

1 things would affect: it, so generally speaking you try
2 to wait as long as you can, not as short as you can,
3 unless, as I say, you have one of those situations
4 where you have a rapidly developing massive type of a
5 problem; in that case I would act quickly, but I like
6 to wait as long as I can.

9:58A 7 Q. But within reason, because you know that if
8 you're wrong, you could be causing tissue damage along
9 the way?

10 A. I could wind up on the other end of here. If
11 you're wrong.

12 Q. Well, but that's not true in a situation where
13 you've had surgery and all you would have to do is
14 reopen the area where surgery has already taken place?

15 A. If you had already had surgery, technically
16 shouldn't have to reopen.

17 Q. No, unless you're suspicious of a compartment,
18 syndrome and you've got a situation where there could
19 be tissue-death occurring?

20 A. Talking about me, now?

21 Q. Yes.

22 A. When I do an operation such as this and I'm
23 opening up the compartments, I never close them.

24 So that's why I'm telling you I'm waiting
25 because the symptoms that can cause me to think about

1 compartment syndrome, as pointed out before, are also
2 symptoms of a lot of other post-operative problems.

9:59A 3 When I do an operation I never, ever close
4 the compartment, just for that reason. Why would you
5 close the compartment?

6 So in my situation, personally, that's why I
7 can wait because I know I've already done the
8 fasciotomies, I've already decompressed the
9 compartments.

10 Q. Are you saying if you're going into the lower
11 leg, deep popliteal, four compartments?

12 A. When you're going into the lower leg you're
13 basically releasing superficial and deep posterior
14 compartments.

15 Q. What about the lateral and anterior?

16 A. As far as the lateral, it's, to me, of very
17 little consequence. The only one you need to be
18 concerned about is the anterior. That is something
19 that you can watch clearly and determine whether it's
20 ready to release. That's, generally it's the posterior
21 compartments that give you the problem.

22 Q. If those compartments are released but the leg is
23 closed with a water-tight seal --

24 A. The skin?

10:00A 25 Q. The skin, yeah. -- Cannot the compartment really

1 be the whole back leg?

2 A. It's possible. It's unlikely.

3 Q. How often would you want to re-evaluate the
4 patient that you're suspicious of a compartment
5 syndrome?

6 A. Again, depending upon how the situation is
7 progressing clinically, anywhere from every few hours
8 to once a day.

9 Q. Now, you've indicated that you don't take going
10 in and doing surgery or reopening a wound very
11 seriously?

12 A. No. I take it very seriously. I don't take it
13 very lightly.

14 Q. I understand that.

15 A. Okay.

16 Q. But if you're suspicious of a compartment
17 syndrome and you haven't been able to decide over a
18 period of time whether it exists or not, wouldn't you
19 attempt to do a compartment pressure measurement to
20 determine whether or not there's elevated pressure?

21 A. I may have, yeah.

22 Q. Would you agree that at some point the
23 measurements of a compartment pressure to determine
24 whether or not a compartment syndrome exists must be
25 done to comport with the standard of care?

10:01A 1

A. No.

2 Q. Why is that?

3 A. Because sometimes you don't need to do a
4 compartment pressure to determine whether or not
5 there's a compartment syndrome present or absent; other
6 symptoms and signs will lead you along the path to
7 determine that it's not a compartment syndrome, and
8 very often some of the pressures you get are equivocal;
9 in other words, you have a, get a pressure of 30
10 milligrams of mercury, or 30 millimeters of mercury,
11 that is a, sort of an area that might be high enough
12 for some people to operate upon, but for others, it's
13 not high enough to operate on, then you wind up with a
14 piece of information, put you're more on the horns of a
15 dilemma because you have information that is confusing
16 the picture, not adding to help you. So very often we
17 don't do compartment pressures for that reason.

10:02A 18

18 Q. On the other hand, doctor, if you just simply are
19 not sure, and you had mixed signs and equivocal signs,
20 and you know that you can't tell and, if it's a
21 compartment syndrome, you're going to have a
22 catastrophic injury; correct?

23 A. No. Potentially.

24 Q. Potentially you'll have a catastrophic injury, if
25 there is one going on. When you're not sure one way or

1 the other, easiest way to decide and get potentially
2 more good information including a measurement that
3 might be 50 millimeters of mercury, is to do a
4 compartment pressure measurement; would it not?

5 A. You can, yes. I've said that.

6 Q. In general, and before there are any signs of a
7 developing compartment syndrome, how often should a
8 detailed neurovascular examination take place in the
9 post-operative management of a patient who has had
10 surgery on the lower leg, the deep compartment?

10:03A 11 A. Before there's?

12 Q. Before.

13 A. By the surgeon, by the nurses, by whom?

14 Q. Everybody.

15 A. Well, I just do routine post-op checks. I see
16 the patient basically once a day. The nurses, if
17 they're in the intensive care unit, see the patient
18 every hour; if they're out of intensive care, what,
19 this is usually the second post-operative -- I'm sorry.
20 The first post-operative, which is the second day after
21 surgery -- usually just check them once a shift,
22 sometimes every four hours, depending upon their other
23 conditions.

24 If the patient is a healthy person who
25 has an uncomplicated procedure -- I did a patient two

1 days ago, a fem-pop bypass, which is an operation of
2 the deep posterior compartment, patient was in the
3 intensive care unit for 12, 14 hours after surgery, and
4 then went up to the surgical floor and she is being
5 checked every shift, so that's once every eight hours.
6 Does that answer your question?

10:04A 7 Q. What about if a compartment syndrome remains part
8 of your differential diagnosis?

9 A. Again, as I said before, it depends upon how
10 significant the symptoms and signs are. If they're
11 having numbness and little bit of swelling, not much
12 more, every shift is adequate. By the nurses.

13 Q. What would this examination include if you've got
14 compartment syndrome as part of your differential
15 diagnosis?

16 A. Basically having the patient move their legs,
17 wiggling their toes, do pulse checks. You might want
18 to do pin-prick sensation, although I've often found
19 that very unreliable.

20 Q. Why is that?

21 A. Because people are really different in the way
22 they sense pain. And some sense sharp sensations, some
23 people feel much more acutely than others; and it's too
24 subjective to really give me a good clinical idea as to
25 what is going on. Passive range of motion of the foot,

1 if we feel that is helpful.

2 Q. Would you agree that a disadvantage of
3 determining whether or not a compartment syndrome
4 exists over a period of days is that the signs and
5 symptoms are somewhat subjective and this could lead to
6 some physician-to-physician variability?

10:05A 7 MR. MURPHY: Objection. You can
8 answer.

9 MS. BOSELLI: Objection.

10 A. I'm sure that does happen.

11 Q. To attempt to keep this to a minimal, would you
12 agree?

! 13 A. Keep what.

14 Q. Keep this.

15 A. What's "this"?

16 Q. Potential to a minimum, the
17 physician-to-physician variability?

18 A. Okay.

19 Q. Would you agree that this problem will be reduced
20 by the results of each examination being thoroughly
21 documented?

22 A. No. Because it's sort of like somebody fills the
23 elephant; somebody fills the trunk, somebody fills the
24 tail. Even though you document, it still has
25 differentiation. You can write down anything you want,

1 but it's still different.

2 Q. Would you agree that whatever service or services
3 are responsible for post-operative wound management,
4 should do neurovascular exams and document their
5 findings after each exam?

6 A. In general?

7 Q. Yes.

8 A. I believe in writing notes on the chart when *you*
9 examine a patient, yes.

10:06A 10 Q. What are the risks associated with tissue
11 pressure measurements?

12 A. In what respect, what kind of pressure? With a
13 needle into the compartment?

14 Q. Yes.

15 A. Bleeding.

16 Q. Anything else?

17 A. Pain, injury. If you strike one of the
18 neurovascular bundles, you could technically,
19 potentially cause a neurological problem.

20 Q. Are you aware of any of those problems ever
21 occurring in a tissue-pressure measurement during your
22 career?

23 A. Bleeding, I think, yes; but I don't think anybody
24 ever hit the nerve, that I'm aware of. I'm sure you
25 could. As I said, I don't usually, I don't do a lot of

1 compartmental pressure measurements even though I do a
2 fair amount of vascular surgery. I just don't have a
3 whole lot of use for them.

4 Q. Do you have a lot of compartment syndromes that
5 have developed?

6 A. No.

7 Q. But you aren't saying that if you had a serious
8 suspicion of a compartment syndrome that you would
9 hesitate in doing the measurements if you thought that
10 information would be helpful to you in making a
11 decision one way or the other?

10:07A 12 A. No. If I thought it were helpful, I would do
13 that, but the fact that I don't do it a lot indicates
14 that usually I don't feel that it's very helpful.

15 I may have compartment syndromes in my
16 patients, but they're subclinical; in other words, I
17 don't think they're enough to warrant investigation,
18 whether with a needle or with a scalpel and so I don't
19 usually go looking for them unless things continue to
20 progress over a period of time.

21 Q. Would you agree that the risk of a bleed is great
22 in a patient that's been over-heparinized above the
23 therapeutic level?

24 A. Above therapeutic? In what situation,
25 Mr. Volsky?

1 Q. In the situation of taking a compartment pressure
2 measurement and, as you've indicated, there is a risk
3 of a bleed.

4 A. Generally speaking, no; I mean, if you're
5 therapeutically heparinized, you're going to bleed. If
6 you hit the wrong thing, whether you're at twice the
7 normal PTA or five times normal PTA. Bleeding is
a bleeding.

10:08A 9 Q. But you'd have more bleeding, wouldn't you, in
10 the person that's over-heparinized?

11 A. Not necessarily. They're certain people, for
12 instance, Bill Blazedale out in California, San
13 Francisco, doesn't feel a person is adequately
14 heparinized until their PTT is over 200 seconds, so it
15 depends on the individuals in so far as what you
16 consider so-called therapeutic levels of paraesthesia.

17 Q. Would you agree that risks associated with taking
18 tissue pressure measures pale as compared to failure to
19 discover tissue pressures which will cause tissue
20 death?

21 A. Would I believe that -- will you say that again?

22 Q. Would you agree that the risks associated with
23 taking tissue pressure measurement --

24 A. Okay.

25 Q. -- pale as compared to the failure to discover

1 tissue pressures which will cause tissue death if it's
2 not released on a timely basis?

3 A. Yes.

4 Q. Would you agree with me that a Board-certified
5 surgeon should be able to recognize a post-operative
6 patient who has developed a compartment syndrome?

10:09A 7 A. Not, you mean immediately? Eventually?

8 Q. In an amount of time to avoid serious permanent
9 damage.

10 A. Probably. Yes.

11 Q. Would you expect a surgical resident to be able
12 to recognize it?

13 A. Depends on how advanced the resident is.

14 Q. At what level of residency would you expect them
15 to be able to diagnosis that before there's permanent
16 injury?

17 A. I think that depends. Some first-year resident
18 could recognize it and a five-year resident wouldn't
19 and some fifth-year resident wouldn't recognize it and
20 some first year-resident will. Depends on the
sophistication of the resident. I can't give you a
22 specific year, you know.

23 Q. Would you agree that the clinical signs and
24 symptoms of a developing compartment syndrome can be
25 masked by post-operative analgesics?

10:10A 1

A. Yes.

2

Q. In assessing the status of a post-operative patient, would you agree that you would want to know the quantity of analgesics the patient was taking?

3

A. Just in general?

4

Q. Yes.

5

A. I always know what they are taking, yes.

6

Q. How do you know that?

7

A. I look in the record. I ask the nurses. I look at the Mar. M A R.

8

9

Q. What is the M A R?

10

A. Medication records.

11

Q. That's one of the things you would want to consider in evaluating the patient?

12

13

A. Evaluate for?

14

Q. The condition of the patient. You're saying that that's part of your normal procedure, to look?

15

16

A. In my patient?

17

Q. Yes.

18

A. I wrote the records so I know what they're getting, and if I feel there is a problem, if they are acting inappropriately, I would look and make sure they're getting what I wrote for them or taking it off the right way, et cetera; yes.

19

20

21

22

Q. Do you manage PCA pumps?

23

24

25

10:11A 1

A. Yes.

2

Q. In evaluating the clinical signs and symptoms do you look for episodes of significant pain contained within the patient's chart?

3

4

A. No.

5

6

Q. So you don't review the notes in the patient's chart to determine whether there were periods of time where the patient was complaining about a lot of pain?

7

8

A. Specifically for that reason? No; I mean, I may note that, if I notice when I'm looking every the patient records that they're taking narcotics over three hours, then I'll know that something is going on with pain. Basically I'll ask the nurses, you know, "Why is that patient taking so much medication?" I'll get a report. I wouldn't just rely on the record. If I suspect some abnormal amount of pain or abuse or use of narcotics.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

10:12A 24

25

Q. What if you had a patient who, for whatever reason, you didn't believe was particularly reliable?

1 A. It's not the patient that's reliable. It's my
2 assessment of the patient that I rely on. Do you
3 understand what I'm saying?

4 Q. Not really.

5 A. In other words, if I'm suspecting -- talking
6 about compartment syndrome?

7 Q. Yes.

8 A. If I suspect the compartment syndrome, it's my
9 assessment of the patient that I have to rely upon, not
10 really what the patient reliability is; I mean, I'm
11 looking at clinical signs and symptoms that I need to
12 interpret a person. So, it's my reliability, not the
13 patient's reliability.

14 Q. When I say "reliability," I'm talking about to be
15 an accurate historian of what's been going on.

16 A. The person taking the history is the historian.
17 That's me.

18 Q. Okay.

19 A. Okay.

20 Q. Person giving the history.

21 A. Okay. Yeah.

22 Q. Don't you have patients that you can't rely on
23 the information they are giving you --

24 A. But that's history. I'm talking about clinical
25 findings now.

1 Q. What about for clinical findings?

2 A. If we are talking about pain, pain is subjective;
3 and some people experience pain certainly different
4 than others. I take it into account, but, again, it's
5 me, the surgeon, who has to make the decision and I
6 have to be the one who makes the assessment of the data
7 that is available to me, not the patient making the
8 assessment.

10:13A 9 Q. But you're trying to evaluate all the-data for
10 the entire time the patient is in the hospital, not
11 just in the hour that you're seeing that patient; would
12 that be true?

13 A. That's true.

14 Q. You've indicated before that when you're in the
15 30- to 40-millimeter range, that that can be equivocal
16 as to whether or not that is sufficient to be causing
17 tissue damage?

18 A. Correct.

19 Q. That is correct?

20 A. Correct.

21 Q. Is there a line of demarcation that sort of, so
22 to speak, where people don't have any dispute that
23 above this number, you know, tissue death or damage is
24 going to occur?

10:14A 25 A. Usually when you're in the neighborhood of 70 to

1 80, then people say there's definitely tissue problems
2 going on.

3 Q. You don't think that 50 is, that most surgeons
4 are going to agree that over 50 you're going to end up
5 with some sort of tissue death?

6 A. Tissue death? No. I wouldn't agree with that.
7 Most surgeons wouldn't agree with that, either, that
8 I'm aware.

9 Q. Over 50 would not cause irreparable damage?

10 A. No. Usually not; I mean, I'm not aware of it.
11 I will say that most of the pressures we measure in a
12 lot of patients, if we would measure them, would be
13 over 50 as long as there's decompression.

14 Q. On a timely basis?

15 A. Yes.

16 Q. Well if --

17 A. Even if, for instance, again I go back to --
3.0 talking about me now; right? And while I'm rating on
19 tissue in the leg, I've done the fasciotomy. If I get
20 a pressure of 50 I'm not going to be too concerned
21 about it necessarily because I've already done the
22 fasciotomy because technically that should be adequate.
23 So I don't know what the 50 means.

24 If you get in the, way up in the 70-80 range,
25 then you would have to worry about tissue damage for,

1 is 40 isn't going to cause, is 50 going to cause it? I
2 can't say that. I don't know what, if they do what
3 data they would have to support it.

10:16A 4 Q. Let's assume that you have done a procedure in
5 the deep posterior compartment and during the course of
6 the post-operative care you become concerned that there
7 is a compartment syndrome potential developing in the
8 anterior compartment?

9 A. Okay.

10 Q. That for some reason hasn't been released?

11 A. Okay.

12 Q. You decide to do a tissue pressure. You get a
13 reading of 50?

14 A. I feel has a compartment syndrome?

15 Q. Yes.

16 A. I'd do a fasciotomy.

17 Q. What about if the signs are equivocal and you got
18 a pressure reading of 50?

19 A. I'd watch them.

20 Q. For how long?

21 A. Until the signs become unequivocal.

22 Q. What if they remain equivocal?

23 A. Then I would do nothing. The patient is getting
24 better. Leave them alone. If it's, he's not getting
25 better on worse, I'll watch it. Either he's going to

1 get better or worse.

10:17A 2 Q. If function is dropping, though, you would then
3 go in?

4 A. Do a fasciotomy.

5 Q. Based on what you just said, doctor, would you
6 agree that progressive functional loss post-operatively
7 is consistent with a compartment syndrome?

8 A. It can be, yes.

9 Q. Is it consistent with the normal progression of a
10 patient recovering from leg surgery?

11 A. Loss of function?

12 Q. Yes. As time goes on over several days if you're
13 getting loss of function?

14 A. It could be other things besides compartment
15 syndrome, but that's in the differential, yes.

10 18A 16 Q. But that is an abnormal outcome of a patient
17 post-operatively for several days, losing function
18 along the way?

19 A. What kind of function are we talking about?

20 Q. Ability to move the toes.

21 A. Not necessarily; because very often when you do
22 re-vascularization you get edema and that's, in fact
23 almost a hundred percent of the time you get edema, and
24 very often you cannot move the affected extremity that
25 has edema, not because of compartment syndrome, but

1 things are swollen.

2 Q. What if you start out with minimal-function loss
3 and after two or three days it's getting worse and
4 there's less and less function as time goes on?

5 A. I'd be concerned.

6 Q. What are the classic, characteristic, clinical
7 manifestations of a compartment syndrome in the deep
8 posterior compartment?

9 A. Well, you have the tibial vessels and
10 neurovascular component compromised, so you wind up
11 with paresthesia.

10:19A 12 Q. Where?

13 A. Usually in the bottom of the foot as well as the
14 calf muscle area and distally, lower leg.

15 You can wind up with, generally speaking --
16 just in the posterior compartment?

17 Q. Yes.

18 A. You have difficulty in extension of the toes,
19 flexion and extension of the toes, pain in the back of
20 the calf with flexion and extension of the toes.

21 Some numbness, as I pointed out, and those
22 are the major ones. There shouldn't necessarily be a
23 foot drop because the peroneal nerve wouldn't be
24 involved yet. It might be, you might have a foot drop.

25 Q. What are the classic, characteristic clinical

1 manifestations in the anterior compartment?

2 A. Well, the anterior compartment you wind up
3 usually with a foot drop and numbness, again inability
4 to spread the toes apart. Some tingling.

10:20A 5 Q. Tenseness of the anterior calf?

6 A. Well, yeah, I suppose. Anterior compartment is
7 pretty tense anyway. When you push on it for me, it's
8 difficult to assess that.

9 Q. Do you believe that a patient who has as part of
10 his or her differential diagnosis the possible
11 development of a compartment syndrome should be
12 examined at least daily by an attending physician?

13 A. Yes.

14 Q. If you had a patient that you suspected could be
15 developing a compartment syndrome, and based on the
16 examination of your residents, the neuromuscular status
17 of that individual had deteriorated over a period of 24
18 to 48 hours, would you not want to be made aware of
19 this?

10:21A 20 A. Yes.

21 Q. If that same patient had been crying in pain
22 despite being on continual analgesics post-operatively,
23 would that be something you would want to be informed
24 of as the attending physician?

25 A. No.

1 Q. Why not?

2 A. Because if I was notified of, as the attending
3 physician, every time my surgical patients cried in
4 pain, I would be notified constantly.

5 Q. Okay. Would you agree that if the neurovascular
6 status of a patient went from some weakness in his or
7 her ability to move her toes to the point where he or
8 she could not move her toes, wouldn't you as attending
9 physician want to be made aware of this finding so that
10 you can make your own assessment?

11 A. Is it an acute thing happening?

12 Q. Over a period of 24 hours.

13 A. Well, I would assess it myself. I'm sorry. Over
14 a 24-hour period. I see the patient every day.

15 Q. Assume that you hadn't. Is that something that
16 you would want to know about?

17 A. Depending on the person, the situation, yes.

18 Q. What about if it was more actual, let's say, a
19 period of six to eight hours that that had been
20 happening, starting to lose function.

21 A. Well, yeah; I'd want to know.

22 Q. If you had a patient who was reported to be
23 complaining of severe pain and crying in pain, and no
24 longer moving her toes, well, doesn't the standard of
25 care at that point require pressure measurement?

1 A. No.

2 Q. Why not?

3 A. Because it's just too vague; I mean, crying in
4 pain and she is not moving her toes because she is in
5 pain; I mean, why would I measure pressure necessarily?
6 Pain is a subjective thing and, as I said, a lot of
7 patients post-operatively on the lower extremity have a
8 lot of pain and they wouldn't move their legs; I mean,
9 they won't move the entire leg, not just the toe.

10 So it doesn't require in any way, shape or
11 form, as a standard of care, require measurement.

12 Q. Even though if it's a compartment syndrome you
13 could be losing function?

10:23A 14 A. But, as I said, the question said if somebody is
15 developing severe pain and they are weighing this pain
16 and those together, do you have to measure? Answer is
17 no. In their situation? No. Most of my patients .
18 post-operatively, seriously, don't move their leg even .
19 though I yell at them to move their leg because they
20 are in so much pain and so, I don't run out and do
21 compartment measures on them.

22 Q. If you have somebody who has been fine and not
23 complaining about pain, and then all of a sudden they
24 are starting to complain about severe pain --

25 A. This is post-operatively?

1 Q. Post-operatively.

2 A. They are doing great?

3 Q. Doing fine.

4 A. Okay.

5 Q. Yes. And they're able to move their toes at
6 first on command.

7 A. And they're in no pain.

8 Q. And they are not having any significant problems
9 with pain, more than what you would expect -
10 post-operatively.

11 A. Okay.

12 Q. And all of a sudden they're starting to complain
13 about severe pain and crying in pain and now --

14 A. Pain, where?

15 Q. Pain in the leg.

16 A. Okay.

17 Q. Pain in the feet; and let's -- the functional
18 status has gone to being able to move the toes to now
19 not being able to move the toes, or not being able to
20 move the toes, isn't there a suspicion of a compartment
21 syndrome?

22 MR. MURPHY: Objection. Basis;
23 I don't think that's reflected in this chart.

24 A. We are not talking about the chart, are we?

25 Q. No. Talking in general.

1 A. Talking in general, I thought.

2 MR. MURPHY: I think so, too.

3 A. It could be, it could be a symptom of compartment
4 syndrome. If I was suspicion of it being a compartment
5 syndrome, I would probably do pressures, but, as I
6 said, it's such a variable question, so vague. I can't
7 say that I would do them automatically.

8 Q. You would need more information?

9 A. Definitely.

10 Q. You would want to do your own exam, though?

11 A. For sure.

12 Q. Would you agree that the likelihood of amputation
13 is lessened by the prompt diagnosis and treatment of a
14 compartment syndrome as compared to the chances of that
15 compartment syndrome has, in effect, been developing
16 over 24 to 48 hours?

17 A. No.

18 Q. If you catch it early rather than late --

19 A. Yes.

20 Q. -- isn't the chance better that you're going to
21 be able to save the leg?

22 A. Most, I can't recall, I think in 20 years, of
23 doing this, I can't think of one person whose leg was
24 lost because I didn't catch a compartment syndrome
25 "Early enough," Okay? So it's unlikely that it would

1 make that much of a difference. Certainly the rapidly
2 evolving thing, massive compartment syndrome,
3 a crush injury, for instance, that is a different
4 situation, but in general if you're developing a
5 compartment syndrome, it's evolving, you know, you have
6 some time. This isn't an acute emergency that you need
7 to rush into it right away.

8 Q. Even though you can have tissue damage, as you've
9 indicated, within hours?

10 A. You can, sure, but muscle damage, as an example,
11 which is the tissue that mostly is damaged, is not
12 irreparable and not irreversible. It's reversible; and
13 even if the muscle is damaged permanently, there is
14 scarring that takes place and hypertrophy of remaining
15 muscle and from a functional standpoint you have a
16 normal-function result.

17 Q. Are you familiar with the medical literature
18 which has studied the cases where a compartment
19 syndrome has been diagnosed and released within twelve
20 hours of the onset of symptoms, looking back, and
21 seeing when symptoms start as compared to the outcomes
22 in those patients where there was a delay in diagnosis
23 and the compartment syndrome was not diagnosed for more
24 than twelve hours?

25 A. No.

1 Q. Doctor, would you agree with me that an-above
2 knee amputation is a horrible injury?

3 MS. BOSELLI: Objection.

4 A. Injury?

5 Q. Yes.

6 A. I don't look at it as an injury.

7 Q. What do you look at it as?

8 A. An operation.

9 Q. Is it a horrible result for somebody who before
10 had a leg and now has an above-knee amputation?

10:27A 11 MS. BOSELLI: Objection.

12 A. If it's indicated, no.

13 Q. I'm not saying whether it's indicated or not
14 indicated. I'm talking about the results to a patient.
15 Without trying to lay blame on anybody, you have
16 somebody who is a functional person who's got two good
17 legs --

18 A. Right.

19 Q. -- and for whatever reason has had to have an
20 above-knee amputation?

21 A. They have a good leg and now they have an
22 above-the-knee amputation?

23 Q. Right.

24 MS. BOSELLI: Objection.

25 A. I mean, you know, it's certainly not desired; is

1 it horrible? It's a value judgment I'm not going to
2 make.

3 Q. Would you agree it's a devastating injury for a
4 16-year old girl to sustain?

5 A. Yes.

6 MS. BOSELLI: Objection.

7 BY MR. VOLSKY:

8 Q. Did you review Rachel Koch's chart to her
9 recovery after the above-knee amputation while she was
10 in the hospital?

11 A. After the amputation?

12 Q. Yes.

13 A. Very briefly. I know that she did get a
14 prosthesis and I know that she has been ambulating on
15 that prosthesis. I really don't know what she went
16 through to get from the amputation to the point of
17 ambulation.

18 Q. So you didn't see what a difficult time she had
19 with pain after the above-knee amputation while she was
20 an inpatient?

21 A. Yes. I didn't see it, but I certainly believe
22 she did if you tell me she did. That is not
23 unreasonable.

24 Q. Have you had patients who received above-knee
25 amputations?

1 A. Oh, yeah.

2 Q. What is your experience as to what is normally
3 involved in recovering from that type of procedure?

4 A. Usually, depending on the age of the
5 individual --

6 Q. Let's talk about a young girl.

7 A. Normally I don't have -- I don't have any young
8 girls. Certainly 16 years old, I don't have.

9 But, generally speaking -- you want me to
10 talk about it for 16 years old? Because I don't have
11 any experience with it. I can imagine that they are
12 going to recover more quickly than an elderly person.

13 Q. Tell me about an elderly person and what your
14 experience is.

15 A. My experience, depends upon the motivation of the
16 individual, will depend on how quickly they are going
17 to recover and the degree of; for the most part if a
18 patient is motivated and wants to get back to as much a
19 normal life still as before, then they will work very
20 hard and progress reasonably rapidly. Within about a
21 three-month period of time they will be fit with a
22 temporary above-the-knee amputation prosthesis. They
23 will be ambulating usually with the aid of a cane.
24 Most of these people will be able to start driving
25 again, again with the appropriate controls in their

1 cars.

10:29A 2 Q. Can they do that with the right leg where they
3 have to use the gas pedal on the brake?

4 A. Generally speaking they use hand controls in
5 those situations. They don't use their leg to drive to
6 operate the foot pedals. Use hand pedals, hand
7 controls.

8 So my experience has been that I have
9 patients who have really even gone back to golf with an
10 above-knee amputation. Again, these are people in
11 their 60s, primarily. I think I had one 53, 54-year
12 old individual who also did very well..

13 And they lead a reasonably normal life. They
14 are not running races any more; on the other hand, they
15 are driving cars, going to work, doing extra-curricular
16 activities. They are walking.

10:30A 17 Q. What kind of distance can they walk?

18 A. Some of them can actually walk several miles.

19 Q. What is the average?

20 A. I really can't tell you.

21 Q. Would you agree that an above-knee amputation
22 would effect an active teenage girl more than what
23 you've just described for elderly or older patients?

24 A. Probably, yeah. Just from a psycho-social aspect
25 alone.

1 Q. What kind of psycho-social aspects would you --

3 Q. -- believe.

6 Q. What was the cause in this case of the venous
7 obstruction that occurred?

9 Q. Yes. Your report refers to venous obstruction.

11 Q. Outflow obstruction?

20 Q. Was it a total or partial obstruction?

22 Q. When did it occur?

24 Q. Did the removal of the varix -- 'can I use that
25 term -- varix, the malformation?

10:32A 1

A. Yes.

2

Q. Result in arterial occlusion which was

3

functionally significant?

4

A. Eventually. I think maybe on the day of the 14th?

5

When she was seeing, I think at that point in time, the

6

pressure had built up enough in the leg to cause a

7

functional varix. I'm sorry. Functional arterial

8

occlusion.

9

Q. That was a secondary phenomenon?

10

A. Correct.

11

Q. Could you give me an overview as to the timing of

12

events that you theorized occurred as far as when the

13

obstruction began and the course which led us to the

14

14th?

15

A. Well, I think the actual outflow, compromised

16

obstruction if you will, started at the time of

17

operation.

10:33A 18

Which would have been the 10th. I believe is

19

the 10th; correct?

20

Q. Yes.

21

A. And during that time the major tributaries of

22

venous outflow of the lower extremity were tied off

23

that removing the varix and blood came into the leg

24

from the arterial side and began to egress from this

25

venous side. It couldn't egress fast enough to keep

1A: 35A

FORM 458R

1 muscles of the lower extremity from below the knee
2 down.

3 Q. What veins had obstruction?

10:36A 4 A. Well, the posterior tibial veins were gone.

5 Q. Why is that?

6 A. That's where the venous varix was to begin with,
7 in that circulation distribution.

8 Q. So you believe that this was not a branch into
9 the posterior tibial?

10 A. No.

11 Q. You believe the posterior tibia, itself, was?

12 A. If I recall the venograms, yes, I think the
13 actual major tibial, posterior tibial veins and over
14 where the anterior tibial veins come in, I think those
15 were interrupted. When you read Dr. Hutton's operative
16 report, he talked about going proximal to tie off the
17 posterior tibial veins. Only thing proximal up there
18 is major trunks as they go into the popliteal vein, so
19 those are the major; it's the tibial and, tibial veins
20 and perhaps even the peroneal. I will have to look at
21 the venogram again to make sure, but from what I recall
22 in the venogram, there are two or three major veins
23 that were there, and at least two of them were tied
24 off.

10:37A 25 Q. That would have been apparent to Dr. Hutton,

1 would it not, from the venogram, you're saying?

2 A. I don't know if it would have. It may have been.
3 It may have been, but he had to do what he had to do to
4 get the varix out.

5 Q. He certainly would have been aware of it because
6 of looking at the varix?

7 A. No. You can't tell because it's just too much
8 anatomy going on. You can't trace all the veins in
9 that small area you're working.

10 Q. But certainly for you to hypothesize or come to
11 the assessment that this was a major portion of that
12 posterior tibial, that was certainly something that he
13 had to be concerned about, as well?

14 A. I think he had to be concerned about getting the
15 varix out. I don't think you needed to concern
16 yourself at that point in time or could concern
17 yourself at that point in time with which veins were
18 left and which weren't left, given her antecedent
19 history of pulmonary life-threatening pulmonary
20 embolus.

100-39A
BONQ A & GADINOY 18C-631

21 MS. BOSELLI: Can we take a short
22 break?

23 (four-minute break taken)

24 BY MR. VOLSKY:

25 Q. I want to make sure I understand what you're

1 telling me.

2 A. Okay.

3 Q. It's your opinion that this was a major branch of
4 the anterior tibial?

5 A. Posterior tibial.

6 Q. Posterior tibial. And you could tell --

10:43A 7 A. And possibly the peroneal.

8 Q. And what do you base that assessment on?

9 A. The venogram, the position where they were
10 operating, where this varix was in relation to the
11 muscles.

12 Q. What fed into the varix?

13 A. These veins. Branches, these came off of those
14 peroneal and the posterior tibial vein.

15 Q. They're branches of the peroneal and posterior
16 tibial, they are actually part of those veins?

17 A. I think they are very close to the junction with
18 those major veins. I don't know if they're the actual
19 veins, themselves, or branches, major branches off
20 them, but I think when he was ligating he probably
21 ligated one or both of those major vessels, peroneal
22 posterior tibial veins.

23 Q. The veins, themselves?

24 A. Yeah.

25 Q. Is that something he would have known in doing

1 it, knowing he was there?

2 A. Probably not. Given the complexity of all the
3 veins that were there.

10:44A 4 Q. Well, but, you're saying, so you're making that
5 conclusion based on hindsight of what happened or?

6 A. Yes.

7 Q. Or is it?

8 A. Hindsight of what happened; and from what I can,
9 what I think I see on the venogram, but primarily
10 hindsight.

11 Q. But you say you suspected, based on the location
12 of this varix, and what was seen on the venogram, that
13 it was very possible that this varix either fed a very
14 major close branch of those major veins or was part of
15 the major veins, themselves?

16 A. Right. On the basis of what you just said and
17 what happened clinically afterwards.

18 Q. All right. Had you just had those venograms,
19 forgetting looking back, that would have been a concern
20 of yours based on its location?

21 A. I wouldn't have been concerned about it because I
22 knew that's what I was to do get the varix out.

23 Q. Would you be concerned that a potential
24 complication based on the vicarious position of the
25 varix would have been a venous obstruction?

10:45A 1 A. Honestly, no; because it's a rare situation to
2 have a varix like this, to begin with, and have
3 recurrent life-threatening pulmonary emboli. My
4 concern would be to interrupt the poly-emboli. I
5 wouldn't have thought of venous obstruction.

6 Q. But you had to know there was a potential risk
7 based on the location of this. I'm not saying you had
8 a choice which, but you got to say to yourself, all
9 right, we got to do this but it's close to a major vein
10 here and there is that potential, but you have no
11 choice.

12 MR. MURPHY: Let me note an
13 objection. That's the third time in a row you've asked
14 the same --

15 A. I know what you're saying. I never had had a
16 situation like that. I think it's a very unusual
17 situation, and I think that I probably would have been
18 thinking more of what had happened prior to this
19 surgery. I see the pulmonary emboli, then I would have
20 thought about, wait a minute, now, am I going to wind
21 up with a venous outflow problem that can lead to
22 gangrene that can lead to amputation.

23 Q. Okay. But it's clear from the venogram that it's
24 close to these regions?

10:46A 25 A. Yes.

1 Q. And after this unfortunate event happens, and you
2 end up --

3 A. What unfortunate; you mean the leg?

4 Q. Yes. Eventually this, the surgery, and it
5 doesn't help and eventually do above-knee amputation
6 based on the fact that you'd seen the venogram and you
7 knew the location of this thing, you would have
8 concluded right away that's what happened, venous
9 obstruction?

10 A. Well, I did; I mean, I looked at the records, it
11 was sent to me. I saw the clinical situation. I
12 looked at the venogram and I concluded right away this
13 is what happened.

14 Q. It was a clear thing for you to see?

15 A. Clear thing? I mean, we had a horrible problem
16 in a 16-year old girl. She lost her leg.

17 Q. I'm saying when you reviewed the case you looked
18 back and your theory of venous obstruction was clear to
19 you?

20 A. Yes. But I had all the records to look at.

21 Q. Okay.

22 A. Okay.

23 Q. Had you been the operating surgeon and seen the
24 course of events happening, after it happens and the
25 muscles didn't come back and they continued to die, an

1 above-knee amputation occurred to you, based upon the
2 fact you knew the precarious position of that varix you
3 would have known at that point, yes, this is clear. It
4 was a venous obstruction?

5 A. It's not a question of knowing at that point; I
6 mean, I would try to put together in my own mind what
7 happened.

8 Q. Right.

9 A. And given the fact that patient had a fasciotomy
10 on the loth, major decompression of the compartment on
11 the 14th, patient had a re-fasciotomy, now the anterior
12 compartment was decompressed on the 14th not only were
13 the muscles not any better, they were worse, I would
14 have to go, "What the heck's going on?" That would
15 have been the conclusion I came to.

16 Q. Any other clinical information other than what
17 you've referred to that also helped you come to the
18 conclusion that it was a venous obstruction?

19 A. You know, I referred to the whole chart. I can't
20 give you a specific, if you're asking me specific
21 things that stick out, no, just the whole clinical
22 picture, the review by all the different doctors, no,
23 just the course of action; yeah.

24 Q. Am I correct that with your theory as well as the
25 theory that this started out as a compartment

syndrome --

2 A. Wait a minute.

3 Q. Your theory is outflow obstruction --

10:49A 4 A. Okay.

5 Q. -- precipitated this thing?

6 A. Okay.

7 Q. You're aware that Dr. Queral's theory is that
8 this started out a compartment syndrome and developed
9 from there to end up with the same result? -

10 A. Okay.

11 Q. You understand that --

12 A. Yes.

13 Q. -- is his explanation.

14 Am I correct that both, under both theories
15 you end up in the same place, which is eventual outflow
16 obstruction, whether it's caused by your theory or
17 caused by the compartment pressures build-up? .

18 A. Correct. Only difference is that according to
19 Dr. Queral, if his theory is correct, then I don't
20 understand why the patient -- really developed a
21 compartment syndrome given the fact that the posterior
22 compartment had already been decompressed on the 10th
23 and why after decompression on the 14th patient
24 continued to worsen.

25 That's I said why I had to think about what

1 I'm thinking about.

10:50A 2 Q. So you find it unusual that a muscle that has had
3 obstruction resulting from a buildup of compartment
4 pressures would continue to worsen over days?

5 A. Not a muscle. All the muscles; yes, I find it
6 unusual.

7 Q. There are such things as compartment syndrome in
8 all the compartments of the lower leg?

9 A. Yes.

10 Q. Can that occur?

11 A. Yes.

12 Q. Do you have any opinion as to whether or not
13 infection played any role in this case?

14 A. Yes.

15 Q. What is that opinion?

16 A. I don't think infection was significant in this
17 case.

18 Q. Do you have any opinion as to whether or not
19 Rachel Koch had any type of underlying clotting
20 abnormality which played any role in the loss of her
21 leg?

22 A. I'm not aware of any.

10:51A 23 Q. You have indicated that you believe this outflow
24 obstruction began immediately?

25 A. At the time of operation.

1 Q. At the time of the operation.

2 What do you base that on?

3 A. Just my clinical impression.

4 Q. Is there anything in the records that point to
5 that other than that's what you would normally expect
6 to happen?

7 A. Well, as I pointed out, given the anatomy that
8 I'm aware of that I've seen in the venogram and the
9 description of the operation, et cetera, and eventual
10 outcome, that's when I felt it started, yes.

11 Q. Would you agree that in general skeletal muscle
12 has extensive collateral circulation?

13 A. Arterial circulation.

14 Q. Vascular circulation.

15 A. Arterial.

16 Q. Arterial.

17 A. Venous.

18 Q. Both.

19 A. There's a lot of branches. I wouldn't call it
20 collateral, necessarily. It's got extensive
21 circulation. Collateral is a term I don't really use
22 for that situation.

10:52A 23 Q. Why is that?

24 A. Collateral to me implies circulation around
25 areas, not necessarily to areas, okay; around areas of

1 obstruction when collateral **blood** flow.

2 There's more than one major **blood** supply or
3 one, there is usually one major **blood** supply and
4 multiple small **blood** flows. If that's what you're
5 referring to as collateral blood flow.

6 Q. Would you agree that in a non-elderly adult's
7 calf, extensive infarction and necrosis resulting from
8 the interruption of venous outflow from whatever cause
9 is a rare phenomenon due to extensive collateral
10 drainage which is normally present?

11 A. Collateral drainage? I just think, first half is
12 correct. I don't know what it's due to necessarily but
13 generally speaking, venous outflow obstruction in a
14 younger adult does not result in the necrosis; is that
15 what you are referring to?

16 Q. Yes. Why is that?

17 A. Generally, speaking there's other pathways of,
18 venous egress. That's the major reason.

19 Q. So generally, if a portion of a vein is
20 nonfunctional, for whatever reason, there is normally
21 sufficient collateral drainage which can take over?

22 A. If it's -- it depends on the degree, okay? If
23 you have major venous obstruction high up, popliteal,
24 for instance, or in the tibial veins and major, all the
25 major tibial veins and you don't do something about it,

1 then you would develop what we call phlegmasia cerulea
2 dolens, which is venous gangrene.

3 If you treat the obstruction and, say, you
4 have massive deep venous thrombosis and you notice it,
5 you treat anticoagulation, or clot-dissolving agents
6 then you can prevent that problem from occurring.

7 Okay?

8 Q. Uh-huh.

9 A. Am I making myself clear?

10 Q. My understanding of what you're telling me is
11 that you choke off a major venous branch then
12 collateral circulation cannot compensate --

13 A. Right.

14 Q. -- for the loss of that major vein?

10:56A 15 A. Exactly because the collateral goes back into the
16 major vein anyhow. So if you block it off, whether the
17 collateral is obstructed or not is irrelevant. Think
18 of a river that's running, a major river with
19 tributaries. If the major river is obstructed at the
20 mouth where it empties into a lake but other
21 tributaries are still open, they are going to flow, but
22 when they get to the very top one it's obstructed, the
23 water still won't get out into the lake. Eventually
24 those other tributaries will clot off somewhere, maybe
25 not acutely but clot up as well, you wind up with

1 gangrene phenomenon. That's why I say if you can treat
2 it with heparin or *urocrinans for example, then you
3 can prevent gangrene from forming.

4 Q. When and where did you say ischemic necrosis
5 occurred?

6 A. In Rachel, now?

7 Q. Yes.

10:57A 8 A. Occurred in the leg, in the muscles of the lower
9 leg somewhere. I don't know where. I don't know if
10 anybody knows where. And when? The first evidence of
11 necrosis in the 14th, if I'm not mistaken, where they
12 actually took muscle out and there was some ischemia.
13 I don't know if it was necrotic. I don't remember the
14 path report, that histologic diagnosis.

15 Q. That's when they opened her **up**, she could have
16 had ischemia earlier than the 14th?

17 A. It's possible. I can't say.

18 Q. At the point where ischemic necrosis developed
19 what type of symptoms would have been expected?

20 A. Pain or absence of pain if there's enough
21 necrosis and the nerve fibers are destroyed. It's that
22 variable.

23 Q. You listed a lot of muscles that you believe
24 suffered outflow obstruction. Is that because those
25 are the muscles that are fed into the posterior tibia?

10:58A 1 A. Drained by the peroneal, posterior tibia, yes.

2 Q. I'm sorry. I meant drained.

3 A. I know you did.

4 Q. Which muscles in the calf had tissue death as a
5 result of a compartment syndrome?

6 A. Which compartment syndrome? You mean at the very
7 end.

8 Q. Whenever.

9 A. I mean -- you'd have to look at the pathology
10 report. To be honest with you, I don't know if they
11 looked at all the different muscles. From what I can
12 tell, they didn't. They just took off the leg and they
13 didn't look at individual muscle groups.

14 Gastrocnemius, soleus, peroneus. They just took it off
15 the leg. If I recall, they looked at the arteries and
16 the veins of the three major arteries and veins that go
17 to the lower extremity below the knee and just
18 commented on those. They talked about some muscle,
19 just muscle. I don't recall them mentioning muscle
20 groups.

10:59A 21 MR. MURPHY: Do you want the
22 path report?

23 THE WITNESS: No, I don't. For
24 Mr. Volsky's question I don't think, I don't know, and
25 certainly the only way to know would be to look at the

1 path report if they looked at specific muscles.

2 BY MR. VOLSKY:

3 Q. Is it your understanding that there were dead
4 muscles in the anterior and lateral compartment?

5 A. I don't recall. I don't recall.

6 Q. Assuming that there were, what would have caused
7 the necrosis in those two compartments?

8 A. Probably pressure; increased pressure.

9 Q. So those would be the result of increased
10 pressures within the compartment?

11 A. Right.

12 Q. What would have caused that?

13 A. The venous outflow obstruction.

11:00A 14 Q. How long does it take a muscle with venous
15 obstruction to show visible signs of change?

16 A. Visible signs, meaning?

17 Q. Meaning that there is an obstruction.

18 A. You mean you can look at it and see it?

19 Q. Yes.

20 A. You could probably see engorgement within 24
21 hours, twelve hours. It depends upon how significant
22 the obstruction is.

23 Q. How was there a partial obstruction if what
24 you're saying is this was, the varix was a major -- are
25 you saying that is a major feeding source to the

1 posterior tibia and peroneal?

2 A. It isn't, it's a feeding source. It was directly
3 off of those two, yes.

4 Q. And its removal did what, cause the obstruction?

5 A. Well, it wasn't its removal, per se. It was the
6 tying off of those vessels that caused it.

7 Q. And when you tie off this vessel, why did
8 obstruction result?

11:01A 9 A. Because those are the major draining Vessels from
10 those areas.

11 Q. So that's what I'm -- my understanding is you're
12 saying that there was major blood leaving this varix
13 and then entering into the posterior tibia system?

14 A. Posterior tibia and peroneal system, yes.

15 Q. And when you tied it off --

16 A. But that's not the only thing going into the
17 system. It wasn't just the varix. It was the muscles,
18 the veins draining the muscles' compartment, as well.
19 The muscle groups, as well.

20 Q. Other muscle groups?

21 A. The muscle groups. What do you mean other
22 muscles? The varix isn't a muscle. It's a varix.

23 Q. I understand. I'm talking about posterior tibia.
24 There is still blood draining from other muscles into
25 the posterior tibia?

1 A. Exactly. It's the same analogy I made before of
2 the river emptying into the lake. If you're way up
3 high over the river before it empties into the lake it
4 doesn't matter where all the other stuff is coming
5 from. It eventually reaches that obstruction causing
6 the stuff to back up, the blood to back up, the water
7 to back up the full river system.

a So what I'm saying is the varix is one part
9 of it and was close to the top of that river, okay?
10 And when you ligate up at the top, the other stuff
11 downstream below the varix where all the other muscles
12 are still draining but, it was ligated on top. That's
13 why the stuff backed up. That's why outflow was
14 obstructed.

11:02A 15 Q. Was the posterior tibia still draining blood from
16 other areas of the lower leg?

17 A. Yes. Below where it was ligated.

18 Q. And therefore those muscles continued to have
19 adequate vascular flow?

20 A. Arterial supply?

21 Q. Vascular; both arterial and venous.

22 A. Well --

23 Q. Arterial supply and venous outflow?

24 A. Arterial flow for certain. Venous outflow was
25 adequate in the, it was adequate until the pressure got

1 to the point where it was no longer adequate and
2 blocked it up.

11:03A 3 Q. Do you know which muscles were initially
4 obstruction by the ligated vessel rather than those
5 muscles that eventually also had outflow obstruction
6 secondarily?

7 A. Yes. No. I really can't say.

8 Q. How long would it take a muscle where there has
9 been venous obstruction to become necrotic and lose
10 volume?

11 A. It's totally dependent upon the degree of
12 obstruction; and you just can't say, I mean I really
13 don't know. There's so many variables.

14 Q. If the malformation provided significant drainage
15 for the muscles that you're saying that it did,
16 wouldn't it have been apparent to Dr. Scoles and
17 Dr. Hutton?

18 A. Malformation didn't provide any drainage --
19 Malformation is an anomaly. The drainage is provided
20 by the veins we have talked about.

21 Q. Was that malformation functional in that it was
22 delivering blood to the venous system?

23 A. It takes blood away from the muscle if it does
24 anything; and, no, I don't think it was functional. I
25 think it was just a source of pulmonary emboli for her,

1 Q. Then why would ligating the feeding branch, the
2 two, there were how many? There were two feeding
3 vessels to the varix?

4 A. If I recall correctly, yeah.

5 Q. And one going out?

6 A. Well, they all go out.

7 Q. What do you mean "they all go out"?

11:05A 8 A. Veins always take blood away from something; I
9 mean, if you want to say two going in, one goes in, two
10 goes out, I don't know how you can make that -- in
11 veins, they are all going out, as far as I'm concerned.

12 Q. Where they all jumbled up and in a big
13 circumference, for instance?

14 A. Right.

15 Q. Are there individual veins within that
16 malformation that are delivering blood to the venous
17 system?

18 A. They are all delivering blood to the venous
19 system.

20 Q. If they're all delivering blood, does that mean
21 that each one of them have to be ligated when it's
22 removed?

23 A. No. What you do is ligate the major ones that
24 you can find and what Dr. Hutton did, unfortunately, I
25 believe, is also ligated the tibial and peroneal veins

1 as well, which were the major outflow for the entire
2 leg.

11:06A 3 Q. When someone does varicose vein surgery, whole
4 veins are stripped out without venous obstruction
5 occurring?

6 A. Superficial veins totally unrelated.

7 Q. Aren't veins removed all the time for bypass?

8 A. Superficial veins unrelated.

9 Q. Haven't you in your practice tied off a branch of
10 the posterior tibia vein without any problems?

11 A. No. I don't usually, I don't think I've ever
12 tied off a branch of the posterior tibia. Yes, a
13 branch. I'm sorry a branch of the posterior tibia?

14 Q. Yes.

15 A. Yes.

16 Q. But the problem here, you're saying, is that
17 there was part of the posterior tibia, itself -- .

18 A. Yes.

19 Q. -- that was ligated?

20 A. Probably. That's what I can see. Don't forget
21 this woman had abnormal anatomy to begin with. Who
22 knows what her real venous outflow is except the, I
23 feel the major veins that I could see on that venogram
24 were ligated.

11:07A 25 Q. Would you agree that there is a peroneal network

1 which has numerous other soleus channels going into the
2 popliteal system?

3 A. I don't know, no.

4 Q. How many major veins go through the soleus?

5 A. Soleus muscle?

11:08A 6 Q. Yes.

7 A. I don't know.

8 Q. What clinical evidence is there to support your
9 theory that the necrosis was initially caused by
10 outflow obstruction rather than the original source
11 being an evolving compartment syndrome?

12 A. I think I've given all that; I mean, I don't know
13 how much more I can give.

14 Q. But the basis of your opinion is really premised
15 on the fact that this varix was close enough to the
16 posterior tibia and possibly peroneal to have caused
17 obstruction to that major vein?

FORM LA 09A 18 A. Well, the premise of my opinion is the fact that
19 those two vessels were ligated, or was two major
20 outflow tracks were ligated, that the patient had a
21 fasciotomy prior to -- on the 10th, February 10th, I
22 believe, of 1989, that the refasciotomy done on the
23 14th failed to correct the problem and the problem
24 continued to progress resulting in amputation on the
25 16th.

1 Q. you would not expect to see progression in the
2 viability or non-viability of that muscle had the
3 problem been solely from a compartment syndrome?

4 A. Caused by bleeding into the compartment; correct.

5 Q. Well, the bleeding doesn't really matter what
6 causes the compartment syndrome, does it?

11:10A 7 A. No.

8 Q. so you're saying --

9 A. Well, I mean, I think what is important, I feel
10 that the problem of the increased pressure in the
11 compartment was caused by the outflow obstruction.
12 That was the initiating problem.

13 Q. But you've said one of the reasons is because the
14 muscle kept getting worse even after the releases?

15 A. That's what makes me believe that's the etiology
16 of the whole problem; correct.

17 Q. Because you would not expect to see that in a,
18 muscle that had been permanently damaged as a result of
19 too high pressures from compartment syndrome?

20 A. No, not permanent damage; I mean, if it's
21 permanent damage, it's permanent damage. I wouldn't
22 expect to see that in, A., this particular patient
23 because she'd had a fasciotomy on the loth, number one,
24 and, number two, she had another fasciotomy and it
25 still didn't help on the 14th. That's why.

1 Q. But if there was already irreparable damage, that
2 muscle could continue to die and show signs of
3 deterioration over the next several days, couldn't it?

4 A. If it's irreparable, it's dead already. It's not
5 going to, you know...

11:11A

6 Q. Well, if its irreparable to the extent that
7 you've built up the pressures and you have caused
8 outflow obstruction, to some extent --

9 A. Uh-huh.

10 Q. -- that continues to deteriorate as the days go
11 by, even though you've made a release, you've already
12 done the permanent damage from the outflow obstruction
13 which happens has also gone through the capillary
14 system and perhaps gone over to the anterior side
15 already to some extent --

16 A. I suppose that's true, yes.

17 Q. -- and continues to get worse as days go on, even
18 though the release has taken place, it's too late?

19 A. Yeah, especially when venous outflow obstruction
20 and release wouldn't have anything to do with it,
21 right.

22 Q. By the time of the fasciotomy, was the outflow
23 obstruction complete at that point?

24 A. Yeah, I think the outflow obstruction was
25 complete at the time of operation on the 10th; I mean,

1 it didn't get worse, but what happened is pressures
2 built up because of the outflow obstruction.

11:13A 3 Q. Maybe I didn't use the right term. Did you
4 indicate that the obstruction was total or partial?

5 A. It was partial but almost total; in other words,
6 it was very significant, significant enough to cause
7 the pressures to build up.

8 Q. Would it have been total by the time of the
9 fasciotomy or would it have remained in the same state?

10 A. Same state.

11 Q. What caused the decrease in hematocrit and
12 hemoglobin, in your opinion, two days post-op?

13 A. That is an interesting question because the
14 hemoglobin and hematocrit, if you look at Rachel's
15 chart is always low. She came in with a low
16 hemoglobin, hematocrit as recently as the 10th, was the
17 operation. Her hematocrit, I believe was 31 percent,
18 the hemoglobin 10 grams, which is anemic, then they
19 dropped precipitously, but a day and a half later she
20 came up almost a full unit of blood without any
21 transfusion. She probably bled a unit or so in between
22 the 11th and the 12th, or the 10th and 11th, I guess.
23 Then if you look again at the 25th, her hemoglobin is
24 down to 8 grams again with no evidence of any bleed, so
25 I don't know why she was that low. I think she bled, I

1 think she was over-hydrated. I think she was too
2 hydrated. At one point I think that her fluid status
3 was never properly assessed.

11:14A 4 Have you heard a word I've said?

5 Q. Yes, I have. Her hematocrit on the day of the
6 surgery is 36.3; is that normal?

11:15A 7 A. What's the one right before it?

8 Q. Well, can you answer my question then I'll --

9 A. Is that normal? Yes; that can be normal, but it
10 turns out --

11 MR. MURPHY: You have it in
12 there.

13 A. It's important to see what the hematocrit was
14 immediately before that 36.3 because that is
15 significantly more telling.

16 Q. Why is that, doctor?

17 A. Because if you look at, 2-2, that's February 2nd,
18 her hematocrit was 32, her hemoglobin was 10 and a half
19 on February 10th. With no transfusions in between her
20 hematocrit all of a sudden is 36 and more important,
21 her hemoglobin, which is a much more accurate reading
22 than the hematocrit, hematocrit is calculated off the
23 hemoglobin, the hemoglobin is an actual measurement,
24 hematocrit is a calculated measure, her hemoglobin was
25 12 grams on the 10th and 10 grams on the 2nd, and

1 that's two units of blood. Where did that come from?

2 I assume she was dehydrated on the 10th.

11:16A 3 Then again on the 11th, after surgery, her
4 hemoglobin is 10 grams; on the 12th it's 5.7,
5 approximately 6 grams and then for some particular
6 reason there's no hemoglobin on the 14th, when she has
7 two hematocrits shown.

8 What's interesting about that is
9 hematocrit and, in the morning is 21.12, hematocrit is,
10 in the afternoon, 24.7. She had a one-unit blood
11 transfusion in between that time period because the
12 anesthesiologist thought her hematocrit was 18, which
13 was the hematocrit on the 12th, but in fact, even
14 though she again got no blood between the 12th and
15 14th, was supposedly bleeding, her hematocrit went up,
16 which is sort of opposite of what you would expect to
17 have happen. Then if you continue to look on the 24th,
18 her hemoglobin is 7.7.

11:17A 19 Q. What does that mean?

20 A. Well --

21 Q. How do you interpret that?

22 A. I don't understand it; I mean, the woman, the
23 girl, nothing was happening to her. She wasn't having
24 any more heparin given to her, that I am aware of, she
25 wasn't bleeding, that I'm aware of and yet her

1 hemoglobin is down to 7.7 grams.

2 Q. What if she was getting heparin on the 13th?

3 A. You would have to postulate that she bled
4 somewhere; and I don't see anybody talking about a
5 bleeding anywhere, that I'm aware of. So her
6 hemoglobin, hematocrit's all over the place.

7 I think there's no question that she probably
8 bled a unit after surgery, maybe up to two units, but I
9 can't say for certain, given the fact that they didn't
10 find much blood in the leg they amputated and we see
11 hemoglobin dropping down to 7 and a half grams, 7 grams
12 on the 25th, which is not explained to me.

13 Q. Let's talk about the critical time, doctor on the
14 10th, the day she has surgery. She's got a hematocrit
15 of 36.3 and two days later it drops to 18; is that
16 correct? Is that the way the chart reads?

17 A. That part is right.

18 Q. Isn't that a dramatic drop?

19 A. Yes.

20 Q. Isn't that consistent with a bleed?

21 A. It's consistent with overhydration and bleeding.

22 Q. It's a significant bleed, that's a dramatic drop,
23 wouldn't it be?

24 A. Well, it's dramatic except that the next day it's
25 up a whole unit of blood without a transfusion.

1 Q. But it's still low?

2 A. But it was low to start with.

3 Q. Well, that could certainly be a change in the
4 hydration, couldn't it?

5 A. So could the fact that she went from 31 to 36 --

6 Q. We are talking in two days.

7 A. So am I.

8 Q. -- goes from 36 down to 18, and you're saying
9 it's your opinion that she didn't have a bleed?

10 A. No; I think she, I know she bled between one and
11 two units. I said that.

11:19A 12 Q. What do you base that assessment on that she bled
13 the one to two units?

14 A. On the amount of blood that was found in the leg,
15 which was not described as being a massive amount. On
16 the hemoglobin and hematocrit that preceded the surgery
17 and that came after the surgery and after this
18 purported bleed, so-called massive bleed, the fact that
19 her hematocrit went up without any transfusion, in a
20 patient that was supposedly bleeding on the 14th.

21 Q. That could certainly have something to do with
22 hydration; couldn't it?

23 A. Yeah. And so could the fact that it went down.

24 Q. 36 to 18 is not explained by hydration?

25 A. Not totally, as I said, I think she a one-to

1 two-unit bleed.

2 Q. And I'm trying to understand how you're
3 quantifying it as a one-or two-unit bleed.

4 A. Because she got one unit of blood on the 14th and
5 hematocrit went up to 25 percent. She started, in my
6 estimation, with hematocrit of 32 percent. Somewhere
7 around 31 to 32 percent. Not 36 percent. That number
8 is obvious dehydration because she was 31 on the 2nd,
9 32 on the 2nd, 24 when she came in, 34 and **up** to 36 on
10 the 10th with no blood given to her, so that has to be
11 dehydration.

11:20A 12 If you hydrate the patient, hematocrit can
only come down. Note here, between the 1st when she
14 was admitted until the 10th when she was operated on,
15 and then afterwards we see again her hematocrit
16 starting to shift all the over the place with only
17 one-unit transfusion. With a one-unit transfusion she
18 was almost up to the same point she was when she came
19 in and then again I started seeing it drifting and
20 shifting in the next seven to ten days.

21 Q. That has to do with, she had several surgeries,
22 didn't she, above-knee amputation?

23 A. I'm talking about after the above -- she had the
24 above-knee amputation the 16th.

11:21A 25 Q. She would have bleeding from that surgery,

1 wouldn't she?

2 A. She couldn't, she would have had no, almost no
3 bleeding from that surgery if it's done properly.

4 Q. So you would expect blood soaked--

5 A. Not when I do it. I mean, you can lose, I say if
6 you're really, if you're really having a bad day you
7 can lose a unit of blood, one unit of blood in that
8 amputation.

9 Q. Other doctors in this case have talked about a
10 formula as to how much blood **loss** you would expect to
11 reduce the hematocrit a certain amount.

12 A. Okay.

13 Q. Do you know what they are referring to?

14 A. Formula?

15 Q. Yes.

16 A. I know that one unit of blood -- what is that
17 equivalent to, is what you're asking?

18 Q. Other doctors, and I apologize I don't know the
19 formula, but they have said to have so much drop of
20 hematocrit that equates to a **loss** of **so** many units of
21 blood?

11:22A 22 A. Correct.

23 Q. What I'm trying to understand **is** the basis of
24 your opinion.

25 A. Usually one gra --

1 Q. If I could finish, please. I'm trying to get an
2 understanding of your opinion that that hematocrit
3 dropped from 36.3 on the 10th, to 18 on the 12th.

4 And you've indicated that it's your
5 assessment that that equates to one to two units of
6 blood. I'm trying to understand how you're basing your
7 opinion on?

8 A. I don't believe her hematocrit was 36 percent,
9 was an accurate reading on the 10th.

10 Q. So it was somewhere between the 32 on the 2nd,
11 you're telling me and 36 --

12 A. No. I think after surgery her hematocrit was
13 probably around 29, given what it was on the 11th at
14 7:00 in the morning, 2-11; and her hemoglobin was
15 around 10 grams as it indicates on the 11th.

16 Q. What if she had already bled at that point?

17 A. Then it should be a lot lower.

18 Q. I'm trying to understand why you don't think that
19 the readings on the 10th are accurate readings.

20 A. Because on the 2nd she was 10 grams, 10 and a
21 half grams of hemoglobin. Okay? The one just
22 immediately preceding the 10th, one that we have
23 written in the chart, her hemoglobin and hematocrit
24 went up.

25 Q. That's, you're saying eight days earlier?

1 A. Right. Eight days earlier. At one level eight
2 days later it went up. Okay? The only way your
3 hematocrit and hemoglobin go up is if you're transfused
4 or you're dehydrated; and I'm telling you she wasn't
5 transfused, so she was dehydrated on the 10th. That's
6 why that 36 reading is not an accurate assessment of
7 what her true value was.

8 More to the point is the assessment when she
9 came into the hospital before they withdrew her from
10 her usual routine, where she was eating and drinking
11 normally on the outside, I would say that she was
12 probably around 32, 31, hematocrit, 10, 10 and a half
13 grams of hematocrit.

14 She came in, she became dehydrated over eight
15 days so the 36, 12.3 readings are erroneous, not
16 accurate assessments of her blood volume. I think more
17 accurate are assessments on the 11th where she's been
18 hydrated in the operating room with intravenous fluids
19 and then she had that episode, if you want to call it
20 that, on the 12th.

21 Q. What caused the episode?

22 A. On the 11th, I assume she had more hydration and
23 a one- to two-unit bleed.

24 Q. What caused that one- to two-unit bleed?

25 A. Probably the post-operatively bleeding that you

1 would see in an anticoagulated patient, someone who has
2 had had major surgery in the lower extremity, raw
3 surface area, on heparin, and she bled.

4 I think that she would have bled had she
5 been, had a PTT of 65 seconds, or 265 seconds, she
6 probably still would bleed.

11:25A 7 Q. The same amount?

8 A. Probably. Within a reasonable degree of medical
9 probability. That is why you don't want to heparinize
10 the post-operative patient. The problem with Rachel
11 and the doctors, that part, they were between a rock
12 and a hard place. They have a young woman who has no
13 pulmonary emboli, life-threatening -- life-threatening
14 pulmonary emboli. They need to keep her anticoagulated
15 because of that fact. They have to operate upon her,
16 and one of the major contraindications to heparin is
17 the post-operative patient, but they can't put an
18 umbrella in her, which would be the other way to
19 protect from pulmonary emboli, because she is only 16
20 years and an umbrella probably has more complications
21 than the heparin, or potential more down the line. So
22 they are in a tough situation.

23 Granted, I will grant you the fact that you
24 don't, they didn't want to give her 10,000 units of
25 heparin an hour. That was a mistake on their part. I

1 don't think it made a difference insofar as the amount
2 that they bleed.

11:26A 3 Q. Why do you say that?

4 A. Because you're going to bleed with PTA at 65
5 seconds in the post-operative patient just like if your
6 PTT is 265 seconds.

7 Q. Why is that?

8 A. Because bleeding is bleeding. Once you start
9 bleeding, you bleed. The whole point of
10 anticoagulation is thinning the blood out. Once you
11 start bleeding, you're going to bleed.

12 Q. But thinning it with ten times too much heparin
13 will make it thinner within a therapeutic dose,
14 wouldn't it?

15 A. I understand that. But I'm telling you some
16 people, their therapeutic is over 200 seconds.
17 Therapeutic means therapeutic. So you're bleeding .
18 between the clot. That's what therapeutic means in
19 this situation, and I'm telling you that a lot of
20 people, that range of what some people call
21 therapeutic, in other words, what it takes to keep the
22 blood from clotting can be anywhere from two to one and
23 a half times the PTT up to greater than two minutes.
24 Greater than two minutes depends on who's given the
25 heparin.

11:27A 1 Q. If it's a therapeutic dose and you have a leak
2 from a suture from the surgery --

3 A. It's not a leak from the surgery.

4 Q. What is it from?

5 A. It's from the cut surface of all the muscles
6 you're cutting in there. There's a raw surface oozing.

7 Q. Your cutting surface, and it's oozing blood in a
8 therapeutic level, that blood still has an ability to
9 clot somewhat. It's just slower; is that right?

10 A. Yes.

11 Q. And if you are giving ten times too much heparin,
12 that partial clotting ability isn't there?

13 A. Sure, it is.

14 Q. To the same extent, it is?

15 A. Not to the same extent, but you sure have partial
16 with clotting ability still present.

17 Q. But you're going to need more because there is
18 going to be less clotting at ten times too much
19 heparin?

20 A. It's impossible to say. It's truly impossible to
21 say that. The reason you give heparin is to keep the
22 blood from clotting in the vessels, not outside the
23 vessels. You don't want people to bleed. You don't
24 give people heparin so they would bleed outside the
25 vessels. You give it so they don't bleed inside the

1 vessels and inside the vessels you're dealing with a
2 totally different cascade, a totally different
3 mechanism for clotting as well as for anti-clotting.

11:28A 4 Once you're outside the vessels, it just
5 doesn't matter. Once you're bleeding, you're bleeding.

6 Q. Is the clotting feature inside the vessel the
7 same --

8 A. No.

9 Q. -- at therapeutic levels of heparin as compared
10 to ten times?

11 A. Yes. The inside the vessel.

12 Q. Why is that?

13 A. Because all the factors that are circulating,
14 they are in the vessel, they are all there. They are
15 all there. That's the point of heparin, and that's the
16 reason why different dosage schedules are given by
17 different doctors, and it's really a matter of personal
18 preference.

19 Q. Do any of them ever give the amount of heparin
20 that was given to this patient post-operative?

21 A. Yes.

22 Q. Why?

23 A. Because they want to make sure the blood doesn't
24 clot in the vessel.

11:29A 25 Q. So there is a difference in the vessel between --

1 A. According to those guys. There's other people
2 who say it doesn't matter. You don't need to give
3 that. It's really a subjective thing.

4 Q. I want you to assume in a hypothetical question
5 that post-operatively Rachel bled from the surgical
6 site and that as a result pressure in her calf
7 increased to the point where a compartment syndrome
8 developed.

9 Would'n't a gradual increase in compartment
10 pressures resulting in a compartment syndrome lead to
11 the same clinical picture you've seen in the material
12 that you've reviewed in this case?

13 A. Assuming that your scenario is true, yes.

14 Q. Doctor, would you agree that medicine is not an
15 exact science?

16 A. More than anybody in this room, probably; yes.

17 Q. Now, your opinion of what caused this problem is
18 your most likely hypothesis; is that correct?

19 A. To the best of my clinical knowledge, yes.

20 Q. Would you agree that even though your theory is
21 more likely, the theory of a bleed leading to
22 compartment syndrome is a possibility in this case?

23 MR. MURPHY: Objection.
24 possibility.

25 MS. BOSELLI: Same objection.

25	A. Correct.
----	-------------

1 Q. What other ones; are there any others?

2 A. Well, just the whole presentation, the way the
3 patient presented with waxing and waning symptoms, the
4 way that she was assessed by various different doctors
5 at various different times and nobody said, this is a
6 compartment syndrome.

7 The fact that there was this venous
8 malformation and abnormality in the venous drainage of
9 the lower extremity, fact that they found a bleed up in
10 the thigh and not just in the calf.

11 I mean, all of these things would make me
12 feel that there it wasn't the bleeding that caused the
13 problem.

14 Q. Any others?

15 A. I'm sure I gave others before. I don't recall.

16 Q. Forgetting for the moment whether it can -- let
17 me start again.

18 When do you believe that a compartment
19 syndrome developed?

20 A. As I said, I believe she developed a significant
21 problem probably on the morning of the 14th.

22 Q. You don't believe that any of the signs and
23 symptoms that were present on the 13th was evidence of
24 a compartment syndrome?

25 A. Beginning compartment syndrome possibly, yes; in

1 retrospect, probably they were.

2 Q. In retrospect?

3 A. In retrospect probably the 13th were beginning to
4 show signs of a compartment syndrome.

5 Q. Forgetting for the moment whether it could have
6 been detected at that point, assuming that it had, and
7 an immediate release done --

8 A. (Nodding head).

11:34A 9 Q. -- do you have an opinion as to whether the
10 outcome in this case would have been any different?

11 A, I do.

12 Q. What is that opinion?

13 A. It would not have made one bit of difference.

14 Q. Were did the clots which were found in the
15 Hunter's Canal at the time of the above-knee amputation
16 come from?

17 A. From the leg, lower leg.

18 Q. How?

19 A. Blood just tracked right up the canal.

20 Q. When?

21 A. During the course from the time of her surgery
22 until the time of amputation.

23 Q. Why would blood track up?

24 A. Why?

25 Q. Yes.

1 A. Because there's a place for the blood to go.
2 That's also one of the reasons why I don't think that
3 the bleeding caused the compartment syndrome.
4 Compartment syndrome is just that. You have a fixed
5 compartment. I have never seen a compartment syndrome
6 that releases itself up the Hunter's canal.

11:35A 7 Q. What if it has nowhere to go?

8 A. Then you have a compartment syndrome.

9 Q. That's, you do have a compartment and it goes **up**?

10 A. No. Once it goes up, that's telling you you
11 don't have a compartment syndrome. You've got a
12 release.

13 Q. If the pressure is such that the least amount of
14 pressure is to track up the vascular channels, because
15 there's no more room in the leg, that would be
16 consistent with a bleeding, wouldn't it eventually go
17 up the vascular channels?

18 A. I've never seen that; I mean, what I'm saying is
19 just the opposite of what you're saying.

20 You're saying that pressure was so great that
21 we saw some blood up in the leg. What I'm telling you,
22 that to me indicates that the pressure couldn't have
23 been that great because there was bleeding; there was
24 egress up the Hunter's Canal which we would not
25 normally see in compartment syndrome.

1 I'm not aware of any literature that says
2 when the pressure gets so great, nor am I even aware
3 clinically, by the way, when the pressure become so
4 great in the lower leg the blood squeezes up like
5 pastry squeezer up into the leg.

11:36A 6 Q. Why wouldn't it?

7 A. Why would it?

8 Q. It's got nowhere else to go.

9 A. It stays in the leg.

10 Q. It's already full in the leg. There's no more
11 room. We are not talking about the belly. There's not
12 a lot of open space in the calf.

13 A. Why didn't it ooze out the thigh?

14 MR. MURPHY: Objection. You're
15 getting argumentative. Wait a minute. You're getting
16 way beyond trying to understand. It's nothing except
17 argument. You want to put the question to him again
18 once more and get an answer so you understand fine; but
19 it's got to stop at this point.

20 BY MR. VOLSKY: I'm not -- he was
21 in the middle of an answer.

22 BY MR. VOLSKY:

23 Q. Am I correct that there is not a lot of open
24 space in the lower calf of a young girl?

11:37A 25 A. I guess not; I mean, I don't know what "a lot"

1 is.

2 Q. But it wouldn't take all that much blood to
3 create enough pressure to cause a compartment syndrome
4 in a lower calf, would it?

5 MR. MURPHY: Objection; that had
6 been fasciotomized before?

7 A. In Rachel Koch?

8 Q. Yes.

9 A. I think she had been fasciotomized. If the
10 pressure, there was that much bleeding and that much
11 pressure, you would not expect the blood to go up the
12 canal. I would expect it to come out of the skin
13 incisions. That's really the path of least resistance.

14 Q. With a water-tight seal that would also be true?

15 A. What's a water-tight seal?

16 Q. That's the way Dr. Scoles described the way that
17 he had sutured the leg closed.

18 A. To me, blood goes out the skin when there's
19 bleeding. That's what I also see.

20 Even in an anticoagulated patient.

21 Q. Why is that?

22 A. It flows.

23 Q. More than if it's at therapeutic level?

24 A. No; therapeutic, when I say, anticoagulant, I
25 assume therapeutic; whatever level you want to call it.

1 Q. Again, it doesn't matter if it's ten times too
2 much; doesn't make a difference?

3 A. No. Anticoagulant is anticoagulant.

4 Q. Do you have any opinion as to whether or not the
5 surrounding muscle tissues around the varix were
6 vascularly compromised in any way?

11:39A 7 A. No.

8 Q. Can you point me to any literature which
9 described the scenario you have theorized occurred in
10 this case; namely, removal of a malformation which
11 resulted in venous obstruction which evolved into a
12 compartment syndrome?

13 A. No.

14 Q. And you, in your practice, had never experienced
15 this, ever; is that correct?

16 A. No. This whole concept of a v rix malformation
17 is an unusual one.

18 Q. Would you agree that giving the order of the
19 amount of heparin ten times over what was intended to
20 be given at approximately four, until approximately
21 four a.m. the day after surgery, is a breach of the
22 standard of care?

11:40A 23 A. If it was done unintentionally, it is.

24 Q. Based on your review of the records which
25 services were involved in Rachel's care?

1 A. The pediatric service, the orthopedic service and
2 the vascular surgery service.

3 Q. What services or service were responsible for
4 diagnosing post-operative complications relating to the
5 leg?

6 A. The vascular surgery service, probably the
7 orthopedic surgery service.

8 Q. Would you have expected both services to perform
9 regular examinations of this patient to make
10 independent assessments of the patient's neurovascular
11 status post-operatively?

12 A. I would probably, I will say, yeah. They were,
13 both have to be, they're both involved in the care.

11:41A 14 Q. Were you aware that Rachel had complaints of
15 increased pain about one a.m. the night after the
16 surgery, early morning hours of the surgery.

17 A. 11th?

18 Q. On the 11th; one a.m. on the 11th.

19 A. Increased; no, I mean she was having pain. I
20 don't know if it was increased.

21 Q. There's another reference in the chart that she
22 had another episode of increased complaint of pain
23 about 7 a.m. this morning. I think she was bolused
24 with additional morphine at that time; are you aware of
25 that?

1 A. Yes.

2 Q. Do you have any opinion as to what was causing
3 the problems?

4 A. Post-operative pain.

5 Q. Do you know how much analgesics Rachel was
6 receiving through the PCA pump on that first
7 post-operative day?

8 A. I can look. I don't recall.

9 Q. Are the number of attempts a patient makes in an
10 attempt to get additional doses of analgesics evidence
11 of the amount of pain the patient is having?

11:42A 12 A. I mean it's, pain is subjective. So it's
13 evidence of how much an individual patient is
14 experiencing, but I don't know what that means. I have
15 patients on PCA pumps who hit the button a hundred
16 times an hour.

17 Q. Does that mean anything?

18 A. It means they don't suffer pain very well. Pain
19 is very subjective.

20 Q. Like to refer you to Dr. Scoles' note on the
21 11th.

22 A. Should I look through?

23 Q. If you would, please.

24 A. Sure. Is that 2030 hours?

25 Q. Yes.

1 A. Okay.

2 Q. He makes a reference to stocking-like anesthesia
3 over the plantar and dorsal aspects of the patient's
4 foot to the level of instep consistent with an evolving
5 compartment syndrome. I'm sorry. Is that consistent
6 with an evolving compartment syndrome?

11:43A 7 A. It's consistent with, yeah; could be.

8 Q. Is a trace extensor hall -- and I may be
9 mispronouncing it -- hallucus function consistent with
10 a compartment syndrome?

11 A. It could be.

12 Q. Since he mentions it, is it fair to say that your
13 interpretation of it of, of this note, was that he was
14 considering compartment syndrome as a possible cause?

15 MR. MURPHY: Objection.

16 BY MR. VOLSKY:

17 Q. Of these complaints?

18 A. Just the opposite. I think he says what he
19 feels. He is doubting compartment syndrome.

20 Q. But he doesn't rule it out?

21 A. He doubted it.

22 Q. But he doesn't rule it out?

23 MR. MURPHY: Objection.

24 A. No; but, again, in medicine, as I pointed out
25 earlier, it's very inexact. You have to go through

1 clinical impressions; and his clinical impression was
2 that it was not a compartment syndrome.

3 Q. I believe you told me earlier that it was
4 possible for a compartment syndrome to develop even if
5 the deep and superficial posterior compartments had
6 been totally released?

11:44A 7 A. I don't think --

8 Q. Am I correct?

9 A. I don't think I said that but...

10 Q. Is it possible?

11 A. Eventually, I suppose it could, yes. It's
12 possible; unlikely.

13 Q. What would cause it?

14 A. Venous outflow obstruction.

15 Q. Anything else?

16 A. Anything that will increase the volume of that
17 leg.

18 Q. Doctor, you are aware that this examination by
19 Dr. Scoles took place on the evening of the 11th, which
20 was a Saturday, and that Dr. Scoles was planning to be
21 out of town until the following Wednesday?

22 A. Yes. Subsequent. I saw that he wrote in the
23 chart he would be out until Wednesday and Dr. Cooperman
24 will cover starting Monday.

25 Q. What was your understanding of who was going to

1 cover on the 12th?

2 A. I didn't really think about it. I would assume
3 Dr. Scoles perhaps or, the vascular service or
4 pediatric service.

11:45A 5 Q. Dr. Scoles was leaving?

6 A. Well, we don't know when he's leaving, He says,
7 "I will be away until Wednesday." Could leave Tuesday
8 for all we know.

9 Q. Assume that the facts are that he saw the patient
10 at 8:30 this night on the 11th and he was planning to
11 leave on the 12th.

12 A. Okay.

13 Q. Do you have any understanding as to who the
14 attending orthopedic person was going to be covering
15 this patient --

16 A. No.

17 Q. -- on the 12th.

18 A. No.

19 Q. But according to Dr. Scoles' note Dr. Cooperman
20 was to start on Monday?

21 A. That's what the note says.

22 Q. I'm sure you'd agreed that there's no problem,
23 you don't have any problem with Dr. Scoles signing out
24 the patient to another Board-certified orthopedist in
25 his practice group?

1 A. Correct.

2 Q. And I assume you have no problem if Dr. Scoles
3 talked to that orthopedist and told him that the
4 residents would watch her on Sunday and call him if he
5 had any problems?

11:46A 6 A. That's correct.

7 Q. I'd like to ask you for the moment to assume that
8 the vascular service was not involved in this case.

9 A. Okay.

10 Q. That the surgery was done by orthopedics and that
11 Orthopedics was following the patient.

12 A. They did the venous surgery?

13 Q. Let's just talk in terms of the fact, I'm more
14 concerned, the question is going to deal with
15 post-operative management.

16 A. So this patient got an orthopedic case?

17 Q. An orthopedic case.

18 A. Okay.

19 Q. Since a developing compartment syndrome had not
20 been ruled out by Dr. Scoles, what type of
21 communication, if any, should Dr. Scoles have had with
22 the residents that were going to follow Rachel on
23 Sunday as to what to do if patient muscular vascular
24 status either remained equivocal or got worse?

11:47A 25 MR. MURPHY: Objection. Two

1 fold; obviously vascular was involved, number one,
2 Dr. Scoles, you're saying he didn't rule it out. I
3 believe he said it in his deposition. That's his
4 testimony. Doubt compartment syndrome.

5 BY MR. VOLSKY:

6 Q. Was that your testimony, this note means to you
7 that Dr. Scoles ruled it out?

8 MR. MURPHY: Scoles' position,
9 he said.

10 A. No, that was not my testimony.

11 Q. Your testimony --

12 A. It's unlikely he didn't consider it as very
13 likely, at all; but we have a hypothetical. Is that
14 what we're dealing with?

15 Q. We are dealing with the interpretation of the
16 word "doubt" and what that means.

17 A. Doubt. When you doubt something, you doubt it.

18 Q. You've thought of it, but you don't think it's --

19 MR. MURPHY: I'm going to object
20 because you're asking him to go into Dr. Scoles' mind.
21 You've already inquired of him at his deposition.

22 Q. Okay. Do you believe it was appropriate for
23 Rachel not to be examined by an attending orthopedist
24 on Sunday the 12th?

25 A. It was --

1 MR. MURPHY: Objection.

2 A. I don't think it was appropriate. It was not
3 inappropriate. Let's put it that way.

4 Q. Do you believe that it was appropriate for Rachel
5 not to be examined by an attending orthopedist on both
6 Sunday the 12th and Monday the 13th?

7 A. As long as some attending like in vascular
8 surgery service saw her, it was not inappropriate.

9 Q. I want you now to assume, doctor, that Dr. Scoles
10 has testified that it was his understanding that while
11 he was gone Rachel's post-operative wound care was
12 being primarily managed by the vascular service.

13 A. Okay.

14 Q. Until Dr. Cooperman returned, Dr. Hutton has
15 testified.

16 A. Wait. Dr. Cooper --

17 Q. Until he returned.

18 A. Where did he go?

19 Q. Dr. Cooperman was gone until Monday?

20 A. Oh. I didn't know.

21 Q. You can assume that to be true.

22 A. Okay.

23 Q. So until Dr. Cooperman came back.

24 A. So basically, Sunday.

25 Q. Sunday.

1 A. Is the day we're talking about?

2 Q. We are talking about Sunday, and we are in fact
3 talking about Monday, as well.

4 A. Okay.

5 Q. And Dr. Scoles has testified that it was his
6 understanding that while he was gone until
7 Dr. Cooperman returned, whenever that was --

8 A. Vascular surgery would be covering?

9 Q. -- vascular surgery would be covering-.

10 A. Okay.

11 Q. And Dr. Hutton has testified that it was his
12 understanding that Orthopedic service was at all times
13 the service in charge of managing Rachel's
14 post-operative wound care.

15 Assuming that there was such a
16 misunderstanding, you would characterize that as a
17 misunderstanding?

18 MR. MURPHY: Objection.

19 BY MR. VOLSKY:

20 Q. If that's their testimony.

21 MS. BOSELLI: Objection.

22 MR. MURPHY: Objection.

23 Mischaracterized the testimony.

24 MS. BOSELLI: Objection.

25 MR. VOLSKY: You can answer.

1 A. If there was a misunderstanding? If what you're
2 representing is the case, that they say there's a
3 misunderstanding, then who am I to say they didn't have
4 a misunderstanding; if they had a misunderstanding.

5 Q. Assume there was a misunderstanding between the
6 two services. Would you not agree that this
7 miscommunication fell below the acceptable standard of
8 care if she was not seen by an attending surgeon for
9 two days who felt that they were primarily responsible
10 for her post --

11:51A 11 MR. MURPHY: Objection.

12 MS. BOSELLI: Objection.

13 MR. MURPHY: Unless you give him
14 the complete testimony of Dr. Hutton on wound care.

15 A. I will say --

16 THE WITNESS: Should I answer
17 anything or not?

18 MR. VOLSKY: You can answer.

19 MR. MURPHY: I'm going to give
20 you the, Dr. Hutton's testimony talked about wound
21 care. The orthopedic had closed the wound and they
22 were following the wound. He's following the leg.

23 A. Question is whether or not that's below the
24 standard of care if no attending saw the patient for
25 two days?

1 Q. Right. Who felt that they were primarily in
2 charge.

3 A. Wait a minute. I'm just saying if no attending.
4 I don't care who felt they were in charge. It doesn't
5 matter who's in charge. If no attending saw this
6 patient for two days, then I believe that's below the
7 standard of care.

8 Q. What about if you would assume in saying that to
9 meet the standard of care whoever is, whatever
10 attending is seeing that patient, you would expect that
11 individual to do a full neurovascular examination;
12 wouldn't you?

1 52A 13 A. I expect the individual to evaluate his patient
14 fully. Neurovascular, it's not necessary, no. You do
15 whatever is necessary that's dictated by the status of
16 the patient.

17 Q. I think you told me earlier in your testimony
18 when we were talking about generally an attending
19 surgeon should document full neurovascular examination
20 each time he sees the patient?

21 A. No. I said he should document when he sees the
22 patient; in other words, he should write notes in the
23 chart. What he writes depends upon what he does.

1 53A 24 Q. Would you expect him to do a neurovascular
25 examination?

1 A. As I said, only if the situation warranted it.

2 Q. What if there are equivocal signs of developing
3 compartment syndrome?

4 A. (No response)

5 Q. Would you expect a full neurovascular examination
6 to be done?

7 A. If they're equivocal?

11:54A 8 Q. Yes.

9 A. No.

10 Q. I want to refer you at 9 o'clock p.m. all the way
11 to the right under "additional data"?

12 A. Uh-huh.

13 Q. Does it not say that there is poor sensation,
14 color pale pink, capillary refill brisk since splint
15 open. Do you see that?

16 A. Warm to touch, poor color, purplish; is that what
17 it says?

18 Q. Pale pink.

19 A. It says pale pink, cap refill, oh, good, had
20 other words crossed over.

21 Q. Brisk?

22 A. Pale pink cap refill brisk since splint open.
23 That's what it says.

24 Q. Do you have any significance for that finding?

11:58A 25 A. I have no idea what she's taking about.

1 Q. The splint was opened by Dr. Scoles about 8:30
2 that night that we talked about when he checked the
3 wound.

4 A. Okay. Where she says posterior wrap splint on;
5 is that it?

6 Q. Yes.

7 A. What does that mean, open?

8 Q. I guess took off the bandages to look at the
9 wound?

10 A. Okay.

11 Q. Does it tell you anything?

12 A. Tells me she had a capillary refill. Pink is the
13 color it should be.

14 Q. Pale pink?

15 A. Yeah. And the foot is warm. That's good. I
16 mean that's normal, too. I don't know what poor color
17 means. That means nothing to me. Pale pink is good
18 color.

19 Q. I believe, and I can't tell from my copy because
20 it's not as good, but I thought that there was a word
21 poor sensation. Can you read that?

22 A. This is slightly visible here. I don't know what
23 that says. If you say it says poor Sensation, I
24 suppose you can ask the nurse what she wrote. It's
25 pretty illegible. Do you want to see if I have a copy?

11:59A 1 Q. No, thanks. On that same sheet at around 2 a.m.
2 is there not a note which says that the patient's
3 temperature increased to 38.81

4 A. Yeah. Do we know if that's rectal or oral?

5 Q. I don't know?

6 A. Because it's circled. Sometimes circled means
7 rectal, but, okay.

8 Q. Is there also a note there HO aware. Do you see
9 it right above it at about 1 or 2 o'clock?

10 A. I see it, yes.

11 Q. That means House Officer?

12 A. Correct.

13 Q. Do you have any opinion as to why Rachel began
14 running a fever at this time?

15 A. Probably Post-operative atelectasis, not
16 coughing, not taking deep breaths. That's what you see
17 in post-operative patients, especially when they are
18 taking narcotics.

19 Q. How long would you expect that to last?

20 A. Until she starts coughing, 24, 48 hours.

21 Q. I'm going to represent to you that she started
22 running a fever with this entry and that did not go
23 away until after the above-knee amputation?

24 A. Okay.

25 Q. Do you have any opinion as to what caused that

Q. I want to refer you now to again those nurses' notes. The pediatric day sheet 24-hour assessment for the 12th of February.

A. It says 12 February '89 on top?

Q. Right.

A. Okay.

Q. It's actually the 24-hour nursing assessment which I believe is the next page.

A. On the back, yes.

Q. Yeah. Do you see a reference to the foot appears edematous?

A. Excuse me. Under what heading?

Q. It's under neurological.

A. Okay. I'm sorry. Left leg elevated, foot appears edematous. Pedal pulse palpable.

Q. Do you have find anything significant about those findings?

A. No. That's what I would expect. Especially with the edema. In some venous outflow compromise you would expect edema.

Q. Do you believe it's possible for a patient who is on morphine sulfate to mask some of the symptoms such as pain and paresthesia?

A. Paresthesia probably not; pain would be lessened. Perhaps paraesthesia also.

1 Q. What is the range of morphine sulfate that you
2 would expect a patient Rachel's size about 105, 110
3 pounds to be receiving by PCA post-op?

4 A. Per hour?

5 Q. Let's talk about her per day, anyway.

6 A. Usually PCA is done by hour. Usually have a one
7 milligram basal rate. At 16 years old at 105, 108
8 pounds is an adult woman, in so far as size is
9 concerned, so I will assume a basal rate of the one
10 milligram an hour and lockout probably have anywhere
11 from six to ten milligrams usually an hour.

12 Q. How many days would you expect a post-op patient
13 with this type of surgery to continue to use that
14 amount of analgesic?

12:04P 15 A. It's variable. Anywhere from one day to six
16 days, five days.

17 Q. I want to refer you now to some doctors' notes on
18 the 13th, and I'm referring to Dr. Tradonsky's note.
19 It says at the top, three-day post surgery?

20 A. Day three post-op. Surgery.

21 Q. You see the first line. It says complains of
22 severe pain level leg. Crying in pain?

23 A. Yes.

24 Q. Do you also see further down that the patient was
25 complaining of pain in the right aspect of her calf

1 with dorsiflexion of the toes?

12:05P 2 A. Yes.

3 Q. If you had been the attending physician and had
4 those findings is that something **you** would have wanted
5 to be made aware of?

6 A. No.

7 Q. Why not?

8 A. Because I don't think, pain in the one side of
9 the calf dorsiflexion, I would expect to have that.

10 Q. That's not a classic sign of an anterior
11 compartment syndrome?

12 A. No. No; in the calf? No; that is not anterior
13 compartment, at all.

14 Q. What is the posterior compartment?

15 A. It's just calf pain. It's not a classic sign of
16 anything except post-operative pain. If a resident
17 would have called me up on the 13th, which I believe
18 was a Sunday, was **it** not? And told me the patient, any,
19 patient post-op, had pain in her calf on day three
20 after I had operated on her, I would kill him.

21 Q. Referring you now to the orthopedic note on the
22 13th.

23 A. Okay.

24 Q. You see **it** at the bottom?

25 A. Same day?

MR. MURPHY:

Previous page, I

think.

A. Previous page. Ortho.

Q. Got that, yes. Do you see that there's a finding that the patient was not moving toes well this a.m.?

A. Yes.

Q. There was pain. That pain was, passive motion was not impressive; correct?

A. Not impressive; correct.

Q. Anterior compartment swollen, sensation intact the first dorsal web space?

A. Uh-huh.

Q. Would you agree that these findings are evidence of deterioration in the neurovascular status in the patient since her first day post-op?

A. No. Because I don't think that these, there's deterioration. These are normal post-operative findings.

Q. But she started out with a trace and there was that muscle that I said I'd never pronounce right was Flexor Halluces?

A. Halluces; right.

Q. Halluces, but that otherwise she was able to move her toes well on the 11th. Is that correct?

A. Correct.

1 Q. And now you're starting to see that she's say not
2 moving her toes well. At this point is that not a
3 deterioration?

12:07P 4 A. It's a subjective finding, not moving toes well;
5 I mean, I don't know what that means; again, it's a
6 subjective; he wasn't --

7 Q. No; there is now --

8 A. I'm sorry. He wasn't concerned enough I think to
9 do anything about it; and it's a judgment call; I mean,
10 I feel that if this person, I would trust that this, if
11 the person felt that there was a significant change
12 they would do something about it. She didn't do
13 anything about it.

14 Q. That doesn't mean it's the right decision; does
15 it?

16 A. I can't answer that. I can only tell you that
17 it's a subjective finding. Not moving toes well this
18 a.m., but then he says not impressive in the next
19 sentence.

20 Q. But that's a resident and there is some
21 subjectivity to that; is that true?

22 A. No question about it.

23 Q. Is the anterior compartment being swollen
24 evidence of an evolving compartment syndrome?

25 A. No.

1 Q. It's not consistent with it?

2 A. Again it's a very, I don't think you can even
3 make that statement. The anterior compartment was
4 somewhat swollen. I think it's a sort of a silly
5 statement to be made.

12:08P 6 Q. You wouldn't expect that of an experienced
7 resident?

8 A. I wouldn't expect it, that from anybody. I don't
9 know how he would have assessed that. And anterior
10 compartment is inside. How does he know it's swollen
11 on the inside?

12 Q. Doctor, I want to refer you to nursing notes
13 dated the 13th, as well.

14 A. Are those on the pediatric thing?

12:10P 15 Q. No. Actually it's a note in the chart. There is
16 a nursing note in the middle of the page.

17 A. 13th.

18 Q. Yes. 13th. There's no two nights dated 13.
19 Bottom one is 14.

20 A. I have it.

21 Q. You have it?

22 A. Nursing note, right.

23 Q. Under assessment.

24 A. Yes.

25 Q. Do you see that the nurse indicates that the

1 patient's leg is swollen with edema to the toes?

2 A. Leg swollen with edema to the toes, yes.

3 Q. Now I want to refer you to again the back and
4 forward page of the 24-hour nursing assessment.

5 A. Pediatric sheet.

12:11P 6 Q. It's the nurses' notes.

7 A. It says pediatric day sheet?

8 Q. Right. Pediatric day sheet.

9 A. Okay.

10 Q. It's undated but I think we've pretty much
11 figured that this is in fact the notes for the 13th.

12 MS. BOSELLI: Better help him
13 because it's way in the back, Kerry. If you recall,
14 it's out of order.

15 THE WITNESS: What does it say on
16 the top?

17 MR. VOLSKY: Mine is different
18 than yours.

19 MS. BOSELLI: All right.

20 BY MR. VOLSKY:

21 Q. Which page are you looking at, pediatric day
22 sheet?

23 A. Whichever you want.

24 Q. It's starts out 16-year old white --

25 A. I have it.

1 Q. -- female on bed rest?

2 A. Right, I have it.

3 MR. MURPHY: Let me just note, I
4 don't know whether this is the 13th or not. I have a
5 sheet in front of me that is 12 and the one in front of
6 that says 12. I'm not saying it's not, but go ahead.
7 I just don't know.

8 MS. BOSELLI: Is there a
9 question?

10 MR. VOLSKY: Not yet. Give me a
11 second. I'm trying.

12 BY MR. VOLSKY:

13 Q. The 24-hour nursing assessment was signed by the
14 nurse at 2 p.m. on this day?

15 A. 1400 hours?

16 Q. Am I right, that's 2 p.m.?

17 A. Are you asking me?

18 Q. Yes.

19 A. Yes. I believe that's correct.

20 Q. Under cardiac, is there not an entry which
21 indicates that the left leg and feet are extremely
22 edematous?

23 A. Then it says toes warms. Is that one cap refill
24 brisk?

25 Q. Yes.

12:12P

FO M L S R BONO 4 IN 3ADINQY 1:40Q 31 33

1 A. Yes. That's what it says.

2 Q. Under musculoskeletal is there not an entry that
3 Rachel is unable to move her left toes?

4 A. Left toes unable to move, is what it says.

5 Q. Is that a significant finding?

6 A. I don't think so.

7 Q. Why?

8 A. Why?

9 Q. Yes.

10 A. Because everything else is, you know, normal.

11 She has toes warm, capillary refill brisk, moving
12 extremities without problem. Then she says left toes
13 unable to move. I don't know what that means.

12:13P 14 Q. You don't know what left toes unable to move
15 means?

16 A. No. The patient doesn't want to move her toes
17 because it hurts to move, maybe they're swollen? I
18 mean, she is moving everything else. She has good
19 capillary refill --

20 Q. But capillary refill, you can have that because
21 the arterial system is still intact?

22 A. Usually, if you have enough compromise is what I
23 think you're getting at, to cause paralysis of the toes
24 then you usually compromise the capillary circulation
25 before you have that.

1 Q. You've got a doctor who is saying, she says is
2 not moving her toes well this a.m. and a nurse saying
3 at 2 o'clock that same thing, not being able to move
4 the toes, and you don't find that at all concerning?

5 A. Could be a little concern. I'm not terribly
6 concerned about it, to be honest with you. Toes are
7 not a problem.

8 Q. Doctor, you're saying this knowing that the next
9 day she's got compartment pressures that are elevated
10 and looking back and seeing this kind of note, none of
11 this is a concern to a doctor?

12:14P 12 A. No; I'll tell you, because in a compartment
13 syndrome I've never seen or heard of actually making
14 that the diagnosis because you can't move the toes.
15 Usually you worry about a peroneal nerve palsy; you can
16 develop foot drops; you have severe paresthesia,
17 numbness, things of that nature, but not being able to
18 wiggle the toes, it really does not in, and a very
19 edematous foot gives me very little concern.

20 Q. Do you see under social where it says the nurse
21 indicates that Rachel is crying and laughing and
22 pushing the M804 button frequently?

23 A. Yeah, I do.

24 Q. And you don't put all that together and -- I see
25 you're smiling.

1 A. Well, I mean, it's almost a ridiculous note;
2 crying, laughing. Maybe she is hallucinating on drugs.

3 Q. Maybe she's in a lot of pain and can't move?

4 MR. MURPHY: We're getting
5 argumentative, Kerry.

6 BY MR. VOLSKY:

7 Q. Someone is in increased pain and all these signs
8 fit together, could be evidence a compartment syndrome
9 developing, couldn't it?

10 A. Unlikely. If, when I read those notes there's
11 nothing about these notes that, with the exception of
12 those which, as I just pointed out, really don't
13 concern me that would make me think of compartment
14 syndrome and laughing, I don't know why that would
15 indicate pain; why is she laughing?

16 Q. She's hysterical because she's been in a lot of
17 pain.

18 MS. BOSELLI: Objection.

19 A. Believe me, when I say I have never in my 20
20 years of doing this had a patient in so much pain that
21 they started laughing, ever. I don't care how much
22 morphine they're taking.

23 Q. You indicate in your report that the
24 over-heparization that took place in the first twelve
25 hours after surgery would have precipitated a massive

1 hemorrhage which would have been noted immediately. If
2 it had occurred. Do you have your report?

3 A. Can I? Let me look a second.

12: 16P

4 Okay. I'm reading it. It's the last
5 paragraph.

6 Q. Why do you say that?

7 A. I don't understand the question why do I say
8 that? That's the way I feel; in other words, there
9 should have been evidence of massive hemorrhage at the
10 time of operation on the 14th. There should have been
11 evidence of massive hemorrhage even before that, and
12 there just never was. Nobody describes any massive
13 hemorrhage.

14 Q. What kind of timeframe are you referring to as to
15 when the massive hemorrhage should have occurred had it
16 been from the over-heparinization?

17 A. At the time that they over-heparinization
18 occurred.

19 Q. You would have expected immediately?

20 A. If it were going to occur, yes.

21 Q. Why couldn't it have been a slow bleed?

22 A. Why couldn't it have been a slow bleed? I mean
23 I'm not quite sure I understand what you mean.

24 Q. You're saying that you would have expected
25 massive hemorrhage right away, and I'm asking you why.

1 A. I said if there were a massive hemorrhage you
2 would expect it. I'm saying there wasn't a massive
3 hemorrhage.

4 Q. I understand that. If this compartment syndrome
5 was caused by the bleed, could it have been a slow
6 bleed that developed over days?

7 A. I suppose. It's unlikely.

8 Q. Again referring to the top of page 32, paragraph
9 right above where we were before.

10 A. Am I, where?

11 Q. In your report.

12 A. Okay.

13 Q. You make a statement talking about unusual
14 circumstances. What were the unusual circumstances
15 involving the anatomy and pathophysiology of Rachel
16 Koch's left lower extremity that you're referring to?

17 A. Well, the fact that she had a venous
18 malformation is an unusual circumstance to begin with,
19 and then in my opinion the actual venous outflow
20 occlusion had developed is an unusual circumstance also
21 and that's all related to her anatomy, which was
22 abnormal to begin with, i.e., the varix and then, of
23 course, all the subsequent problems that developed.

24 MR. VOLSKY: All right. Thank
25 you. That's all I have.

1 MS. BOSELLI: I just have one
2 quick question.

3 EXAMINATION OF HOWARD C. PITLUK, M.D.,

4 BY MS. BUZELLI:

5 Q. You've reviewed in addition to the attending
6 notes the residents' notes, right, in this case?

7 A. I've reviewed the notes in the chart, is that
8 what you're referring to?

9 Q. Right.

10 A. Yes.

12:19P 11 Q. And my question is to you whether you have an
12 opinion as to whether any of the acts or omissions by
13 any of the residents who were involved in Rachel Koch's
14 care caused or contributed to her amputation?

15 A. Yes.

16 Q. What is your opinion?

17 A. My opinion is that they had no contribution to
18 her amputation.

19 MS. BOSELLI: That's all I have.

20 - - -

21

22

23

24

25

State of Ohio) **ss.**
County of Cuyahoga)

CERTIFICATE

I, Susan W. Talton, a Notary Public within and for the State aforesaid, duly commissioned and qualified, do hereby certify that the above-named witness, HOWARD C. PITLUK, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed upon a computer; that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid, and that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, employee or attorney of any of the parties hereto, and further that I am not a relative or employee of any attorney or counsel employed by the parties hereto or financially interested in the action.

IN WITNESS WHEREOF, I have hereunto set my
hand this ** day of May, 1994.

Susan W. Talton
Susan W. Talton
Notary Public (DB)

My commission expires February 25, 1995.

SIGNATURE PAGE

RACHEL KOCH, a minor, et al.,

I certify that this deposition was signed in my
presence by RACHEL KOCH, a minor, et al., on this _____
day of _____, 1994.

IN WITNESS WHEREOF, I have hereunto set my hand
And affixed my seal of office in this City
Of _____, County of _____,
on this _____ day of _____, 1994.

Notary Public
My commission expires:

RACHEL KOCH, a minor, et al., vs. PETER SCOLES,
M.D., et al.,

Case Number 188233 Judge Calabrese
Deposition Date Friday, May 13, 1994

I, HOWARD C. PITLUK, M.D., wish to make the
following changes:

PAGE LINE

CHANGE :—

CHANGE:—

CHANGE :—

CHANGE :—

CHANGE :—

CHANGE :—

CHANGE:—

CHANGE :—

CHANGE:—

CHANGE :—

CHANGE :—

CHANGE:—

CHANGE :—

CHANGE :—

CHANGE :—

CHANGE :—