

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

LINDA PAINO,

Plaintiff,

vs .

RAGHU SAWKAR, M.D., et al.,

Defendants.

No. 3009009

Doc. 359

DEPOSITION OF HOWARD CHARLES PITLUK, M.D.

July 14, 1997

Tucson, Arizona

COPY

SILVERMAN & GARWOOD
Court Reporting Service
177 North Church Avenue, Suite 400
Tucson, Arizona 85701
(520) 792-2600 or (800) 759-9075
FAX (520) 792-4105

1 APPEARANCES:

2
3 FOR THE PLAINTIFF:

4 SPANGENBERG, SHIBLEY & LIBER
5 BY: WILLIAM HAWAL, Esquire
6 2400 National City Center
1900 East Ninth Street
Cleveland, Ohio 44114

7 FOR THE DEFENDANT FAIRVIEW:

8 ARTER & HADDEN
9 BY: THOMAS H. ALLISON, Esquire
1100 Huntington Building
925 Euclid Avenue
10 Cleveland, Ohio 44115

11 FOR THE DEFENDANT SAKAR:

JACOBSON, MAYNARD, TUSCHMAN & KALUR
12 BY: WILLIAM D. BONEZZI, Esquire
1001 Lakeside Avenue, 16th Floor
13 Cleveland, Ohio 44114

14
15 PURSUANT TO NOTICE, the deposition of
16 HOWARD CHARLES PITLUK, M.D. was taken in the offices of
17 Charles Pitluk, M.D., 1925 West Orange Grove, Suite
18 101, in the City of Tucson, County of Pima, State of
19 Arizona, before Carol A. Post, a Notary Public in and
20 for the County of Pima, State of Arizona, on July 14,
21 1997, commencing at the hour of 1:30 p.m., on behalf of
22 the Defendants, in a certain cause now pending in the
23 Court of Common Pleas, in and for the State of Ohio,
24 County of Cuyahoga.

25 * * *

I N D E X

EXAMINATION

PAGE

By Mr. Hawal

4

By Mr. Allison

53

E X H I B I T S :

Number

Description

Identified

(None marked for identification.)

1 HOWARD CHARLES PITLUK, **M.D.**,
2 having first been duly sworn to tell the truth, the
3 whole truth, and nothing but the truth, was examined
4 and testified as follows:

5
6 EXAMINATION

7 BY MR. HAWAL:

8 Q Doctor, please state your full name for
9 the record.

10 A Howard Charles Pitluk, M.D.

11 Q And your current business address?

12 A 1925 West Orange Grove, Suite 101,
13 Tucson, Arizona.

14 Q How long have you been practicing here
15 in Arizona?

16 A Just short of two years.

17 Q You had prepared a report January 23rd,
18 1997, which, among other things, itemized a number of
19 written materials that you reviewed in preparation for
20 your involvement in this case as an expert?

21 A Correct.

22 Q Correct?

23 Are there any additional things that
24 you've reviewed since that time, since the items that
25 you itemized in your report?

1 A Just Dr. Seaberg's (phonetic)
2 deposition.

3 Q Okay.

4 MR. BONEZZI: And the films.

5 THE WITNESS: Oh, yes. And I also saw
6 the actual x-rays.

7 BY MR. HAWAL:

8 Q Which x-rays?

9 A The Fairview x-rays of Ms. Paino's
10 balloon angioplasty and her operative arteriograms that
11 were performed by Dr. Raghu Sawkar and the preoperative
12 films, as well, the aorta or femoral arteriograms.

13 Q Do you know Dr. Sawkar?

14 A Personally, I've met him in my capacity
15 as president of the Cleveland Vascular Society several
16 years ago. I have met him at some meetings, but I
17 don't really know him personally.

18 Q Does your report set forth or summarize
19 the opinions that you expect to express in this case?

20 A I believe so.

21 Q You mentioned in your report -- and I'm
22 going to go through your report somewhat with you, if
23 we can -- you indicate that Dr. Sawkar treated
24 Mrs. Paino at this time for both a left and right
25 superficial thrombophlebitis of her saphenous system,

1 and you're referring to **1993**.

2 A Correct.

3 Q Where are you obtaining that information
4 that he treated both her left and her right
5 thrombophlebitis?

6 A From Dr. Sawkar's office notes.

7 Q Where do his office notes refer to the
8 right-sided saphenous pain treatment?

9 A May I look?

10 Q Sure.

11 A I have these records here. May 27,
12 1993, the note says --

13 And I'm quoting from reading his
14 handwriting.

15 Q Uh-huh.

16 A -- left leg severe, V-vein, which is
17 varicose veins, with superficial phlebitis, right mild.
18 So that to me indicates that he was
19 treating both the right and left legs for superficial
20 phlebitis.

21 Q What was the nature of the treatment
22 that he provided for the right-sided thrombophlebitis?

23 A Well, I believe the treatment is
24 systemic.

25 Eventually she was put on Coumadin.

1 That's not for a side, an individual side. That treats
2 everything. It will treat both right and left.

3 Q She had severe thrombophlebitis on the
4 left? Is that your interpretation?

5 A Severe varicose veins --

6 Q Okay .

7 A -- with superficial phlebitis, is the
8 way I'm reading that on there.

9 Q Okay. And right mild?

10 A Right.

11 Q Did you ever find any other notation or
12 reference to a right-sided phlebitis?

13 A I don't believe so, no.

14 Q You describe her as being seen by
15 Dr. Sawkar in 1995 for severe claudication involving
16 her left leg.

17 What were the symptoms as you understand
18 them to be in 1995 that lead you to conclude that she
19 had severe claudication?

20 A Well, she cla ms that first of all she
21 went to see Dr. Sawkar for the leg pain.

22 So to me that indicates that she has
23 significant severe whatever word you want to use,
24 disease.

25 She couldn't walk, apparently, without

1 pain. And then according to Dr. Sawkar's notes, after
2 seeing her initially, when he saw her again she was
3 complaining of having rest pain even on that left side,
4 and that to me indicates severe disease.

5 Q Were there any physical findings on
6 physical examination described by Dr. Sawkar that would
7 further give any additional information about the
8 extent of her symptomatology or her clinical condition
9 with regard to her peripheral vascular disease on the
10 left?

11 A I believe the pulsus were markedly
12 decreased or they were -- I don't even remember if
13 they were even palpable at all.

14 Q And you would be getting that from his
15 records?

16 A Yes.

17 Q When you talk about rest pain are you
18 talking about limb-threatening ischemia?

19 A Usually rest pain is limb threatening,
20 correct.

21 Q So would you characterize a patient her
22 age, if she is exhibiting rest pain, that she is in
23 need of emergent treatment of her: peripheral vascular
24 disease?

25 A No, not emergent.

1 Q Would you consider her in need of
2 invasive interventional treatment?

3 A I do, yes.

4 Q Doctor, generally, in the area of
5 peripheral vascular surgery and peripheral vascular
6 disease, is a physician permitted to rely solely upon a
7 history and physical examination in making a
8 determination as to whether or not a patient needs
9 interventional treatment in the way of angioplasty or
10 surgery?

11 A I don't think you could be faulted for
12 that.

13 Although, I do feel that there are other
14 studies that should be done before you do
15 interventional; and specifically, those are noninvasive
16 peripheral vascular laboratory studies.

17 Q All right. Can we agree that if a
18 physician suspects that a patient is being disabled
19 from a significant peripheral vascular disease that
20 that patient, prior to being put through an invasive
21 procedure like an angioplasty or a bypass surgery, that
22 that patient should have noninvasive studies, vascular
23 studies performed?

24 A For the most part.

25 Although, if the ischemia is acute

1 enough or severe enough, no, you do not need to do
2 that; you can go right to the arteriogram.

3 Q If ischemia is severe enough, you're
4 talking about ischemia that will be producing cyanosis
5 and perhaps ulcerations?

6 A Could. Those are two things that could
7 occur; correct. There's others, but yes.

8 Q What are the other indications of severe
9 enough ischemia that would warrant a physician doing an
10 invasive procedure without doing vascular peripheral
11 studies?

12 A Well, very easily, patients come in with
13 severe ischemic rest pain; they can't sleep at night.
14 You examine the leg. The foot is either very red or
15 very pale, one or the two, and the redness is the skin
16 trying to dilate up. But it's an indication of poor
17 circulation; it's severe ischemia.

18 As I said, the physical pain may be so
19 severe that the patient needs to be arteriogrammed and
20 there's really no point in doing noninvasive studies.

21 If you know clinically by examination
22 that the foot is ischemic enough that it's going to
23 require intervention, very often I won't even do a
24 noninvasive study because I know what it's going to
25 show; it's going to show an index of .2 or .3, and that

1 really is of no help. It doesn't help the patient. It
2 just delays their treatment.

3 Q In terms of a patient that comes in
4 complaining of that degree of severe ischemia, what
5 kind of --

6 I mean, you talked about seeing
7 discoloration, redness, or blanching of the foot.

8 Is that one of those --

9 A Toes, foot, yeah, mostly toes.

10 Q -- of the findings that you'll see?

11 Were there any findings that you saw
12 that Dr. Sawkar articulated in his records that would
13 indicate that he observed ischemia to that extent with
14 this patient?

15 A I don't recall. I don't think --

16 I know he talked about the noninvasive
17 studies when she dropped down to a .24 index, which is
18 ischemia. He talks about her having night pain; those
19 were his words, night pain.

20 So that, to me, that's -- I'm seeing
21 something, but that's historical.

22 And that in conjunction with his
23 physical examination would indicate that the patient, I
24 think, should have noninvasive -- further invasive
25 intervention.

1 But he also did have a noninvasive
2 study.

3 Q Do many elderly patients with peripheral
4 vascular disease have concomitant medical conditions,
5 either orthopedic or neurologic, that would create some
6 difficulty diagnostically in determining whether or not
7 a patient's complaints of pain are ischemic pain or of
8 another source of origin?

9 A Not many.

10 But that can occur if you have two
11 disease processes occurring.

12 In an elderly individual who has
13 palpable pulsus, as an example, and has terrible pain
14 in their legs when they walk, that patient has clear
15 neurologic disease and not vascular.

16 If, on the other hand, the patient has a
17 history of having back problems and comes in with no
18 palpable pulsus and pain when they walk in their legs,
19 then you need to do more studies to sort out what's the
20 etiology of her pain; is it vascular, is it orthopedic
21 or neurogenic, or is it both?

22 Q Do not the vascular texts that you're
23 familiar with not indicate that noninvasive vascular
24 studies are important in order to differentiate between
25 a patient's ischemic pain, if it exists, and

1 nonischemic pain that could be of an orthopedic nature?

2 MR. BONEZZI: Objection.

3 Go ahead and answer it.

4 THE WITNESS: I don't really discuss
5 these things with our vascular techs, to be honest with
6 you.

7 BY MR. HAWAL:

8 a Pardon me?

9 A I don't discuss these things with our
10 vascular technicians.

11 Q Texts, texts.

12 A Oh, I'm sorry. Texts.

13 As I said, only in situations where you
14 have possible confusion.

15 As I said, if you have a patient who has
16 palpable pulsus, I simply write -- I don't bother with
17 noninvasive studies; I just send them right to the
18 person who takes care of backs, whether it be the
19 neurosurgeon or the orthopedic surgeon.

20 Q Did Linda Paino have any concomitant
21 illness that would affect the symptomatology in her
22 left leg?

23 A Possibly her cardiac disease could, if
24 she has poor cardiac output and therefore poor
25 circulation.

1 Q Anything else to your knowledge?

2 A I don't believe she was diabetic, but
3 I'm not sure; I don't recall. If she were diabetic,
4 she had early diabetes. That would affect it. Those
5 are the two major things.

6 Q Do you know if she had any neurological
7 or orthopedic problems in her left leg?

8 A No, I don't.

9 Q Doctor, you indicated earlier that Linda
10 Paino was experiencing claudication and that progressed
11 to the point of rest pain to the extent that she was
12 disabled and was basically unable to walk very far. Is
13 that a fair understanding?

14 A I can't say --

15 I'm sorry.

16 I don't know if she was disabled. I
17 just know from Dr. Sawkar's notes that she was having
18 pain when she first was seen, she was talked to a month
19 or so later or a little bit more, and now she had night
20 pain at rest.

21 She called Dr. Sawkar after his
22 explanation to her about balloon angioplasty or other
23 modalities and said that she actually wanted to do the
24 angioplasty. She actually requested that, as far as I
25 can glean from the records.

1 And so to me that indicates progression
2 of the disease.

3 Q In terms of your understanding of this
4 case is it consistent that based upon your
5 understanding of the vascular studies and the degree of
6 pathology that you can determine from the medical
7 records that she was having disabling pain to the
8 extent that she was barely able to walk from
9 Dr. Sawkar's parking lot into his office?

10 A Yes, absolutely, just looking at the
11 arteriograms and getting the history and et cetera,
12 yes.

13 Q Was the right leg symptomatic at all?

14 A Not as much as the left, apparently.

15 Q Well, can you tell us anything about the
16 degree of symptomatology in her right leg?

17 A I don't believe she had a lot of
18 symptomatology in the right leg, as far as I can tell.
19 I --

20 My focus was the left leg.

21 Q Did you see any description of any
22 complaints about the right leg?

23 A I don't know. I don't think I did.

24 Q What are the usual noninvasive studies
25 that a vascular surgeon will perform on a patient with

1 peripheral vascular disease before recommending an
2 invasive procedure like a balloon angioplasty?

3 A Or an arteriogram.

4 Q Do you mean an arteriogram or a balloon
5 angiogram?

6 A Balloon angiogram.

7 Well, they will first do a physical
8 examination and a history and get the history as to
9 what kind of symptoms the patient is having.

10 Physical examination will entail
11 examining the extremity involved and examining,
12 actually, the entire vascular tree to get an idea as to
13 the carotid arteries and the peripheral circulation up
14 in the upper extremities and et cetera, and then of
15 course focusing on the limb in question with pulse
16 examinations, skin examinations, making sure there's no
17 ulcerations interdigitus, or between the digits,
18 ulcerations that can be missed, things of that nature,

19 Then if the pulse examination and the
20 physical examination and the history warrant, I would
21 do a noninvasive peripheral vascular examination in the
22 laboratory, a pulse volume recording that will give
23 you -- with Doppler studies that will give you some
24 hard data to corroborate your physical examination,

25 If that shows significant enough

1 disease, I will usually use some conservative measures,
2 if possible; and then if those fail or if the patient's
3 still having severe symptoms, go to an arteriogram,
4 just an angiogram; and if at that point in time the
5 angiogram warrants and there are lesions that are
6 amenable to, we will perform a balloon angioplasty.

7 Q In terms of the nature of the elicited
8 history and the quality of the physical examination
9 that you observed from reviewing Dr. Sawkaz's office
10 chart, do you find that that was detailed and thorough
11 to the extent that you like to see with examinations
12 that you perform with your own vascular patients?

13 MR. BONEZZI: Objection.

14 Go ahead and answer.

15 THE WITNESS: I believe it was thorough,
16 yes.

17 BY MR. HAWAL:

18 Q You talked about angiography. Is it
19 generally recognized in your profession that
20 angiography, while it will identify lesions, it will
21 not generally identify the degree to which those
22 lesions are capable of producing disability of a
23 vascular origin?

24 A I'm not sure I understand what you mean.

25 Q Well, what I'm trying to say, isn't it

1 true that some lesions on an angiogram will look like
2 they are capable of producing significant disability
3 and in fact later noninvasive studies show that those
4 lesions are not significant in terms of producing
5 symptomatology, and the opposite is also true, that
6 some lesions that don't look bad on film in fact can be
7 causing severe ischemia? Is that generally understood
8 to be the case?

9 A Generally that's -- Those are the
10 exceptions and not the rule as you seem to be making
11 out. It is very rare that --

12 First of all, you do the noninvasive
13 studies first before you do the angiograms. You sort
14 of implied the other way around. In other words --

15 And that's important, because you
16 wouldn't be doing the angiograms unless the noninvasive
17 studies corroborated the fact that you had something
18 that needed to be looked at with an invasive angiogram.

19 Once you do that angiogram, you may find
20 a lesion that you -- doesn't look terribly bad, but yet
21 is bad according to the noninvasive studies. And
22 that's primarily because angiograms are two-dimensional
23 films and you're dealing with a three-dimensional
24 vessel.

25 And so on the two dimensions that you're

1 looking at, the flat film, if you will, you're seeing
2 length and width; you're not seeing depth. If you sort
3 of rotate that patient, that lesion that looks like it
4 might be only 20 percent narrowed might in fact be 90
5 percent narrowed.

6 Does that answer your question?

7 Q Sure.

8 And in terms of diagnostic significance,
9 in terms of leading a patient up to a balloon
10 angioplasty or a bypass procedure, I take it, then,
11 that while an arteriogram is an important component,
12 the primary determinant as to whether the patient is
13 amenable to surgery or surgery is appropriate is the
14 noninvasive flow studies?

15 A No, no. No, that's not true.

16 The primary -- There -- there is --
17 There isn't one just primary. I think the history has
18 to be taken into account, the noninvasive studies, and
19 the arteriogram.

20 If you ask anybody what the gold
21 standard is, the gold standard is the arteriogram.
22 That's the study which you will use in conjunction with
23 a history and in conjunction with the noninvasive
24 studies to tell you if a lesion is critical or should
25 be addressed.

1 Q Is it not true that the arteriogram will
2 not indicate the degree of stenosis or occlusion
3 because it is only two-dimensional film?

4 A No.

5 I'm just saying in some lesions, and
6 those are the exceptions and not the rules, you can be
7 fooled. But most of the time, 90-plus percent of the
8 time or more, the arteriogram gives you the answer.

9 Q Are you familiar with medical literature
10 both in terms of the peer-review journals that I'm sure
11 you have seen in your practice of vascular surgery,
12 like the Journal of Vascular Surgery that's sitting on
13 your desk, as well as vascular texts, that indeed the
14 gold standard or the determinant as to whether a
15 patient is going to have an angioplasty procedure or
16 any invasive procedure for peripheral vascular disease
17 will be flow studies rather than anything else?

18 A No. I don't agree with that.

19 I believe that the natural gold study is
20 the arteriogram. And that, I think, has been
21 documented in the journals.

22 Q What does the ankle brachial index tell
23 you about the degree of peripheral vascular occlusion
24 in a patient suspected of having claudication or rest
25 pain?

1 A The ankle brachial index is an attempt
2 to quantify the degree of narrowing and disability that
3 can be caused by this narrowing. So anything above .9
4 is essentially normal, normal flow.

5 When you get down to the level above .75
6 you begin having claudication symptoms. The lower you
7 go, the more significant, most likely, is the occlusive
8 disease.

9 At a level of around .4 you can heal
10 below the amputation. At a level of around .2 -- say
11 .25, you begin having ischemic rest pain. At a level
12 of around .1 you have actual ulcerations.

13 So it gives you a ballpark idea as to
14 the kind of disease you're dealing with and perhaps a
15 glimpse as to what to expect in the future.

16 Q A finding of .7 on a patient's index is
17 not consistent at all with rest pain, is it?

18 A Usually not, no.

19 Q .7 is not an indication for an invasive
20 procedure, is it?

21 A Well, it depends. It depends on how
22 much claudication the patient's having.

23 Q Do you promote invasive procedures for
24 claudication?

25 A Yes, depending on the individual.

1 Q Is that something that you usually
2 recommend for a patient with claudication?

3 A Depends on the individual.

4 Q And what is the determining factor is if
5 a patient is having claudication as to whether or not
6 you're going to recommend an invasive procedure like an
7 angioplasty or a bypass?

8 A The degree or that the lifestyle is
9 being altered by this claudication.

10 Q I take it that the younger the person,
11 the more active, the more likelihood that you're going
12 to be giving them an option of an invasive procedure.

13 A Age has nothing to do with it. I live
14 in Arizona where I'd say most people are old. But
15 interestingly enough, age is no longer a determining
16 factor as to who gets operated upon or who gets an
17 invasive procedure and who doesn't.

18 Q If a patient is having a claudication to
19 the extent that they're able to spend an hour and a
20 half to two hours on their feet without any real
21 significant complaints and they're 75 years old, would
22 you expect that that's a patient that you would be
23 promoting an invasive procedure for at age 75?

24 A Perhaps you and I don't have the same
25 understanding of claudication.

1 Q Okay.

2 A Standing on your feet has nothing to do
3 with claudication.

4 Q Walking.

5 A Walking is a different story.

6 Q That's what I meant by --
7 Active on their feet.

8 A It depends on --

9 Okay. I'm sorry. I --

10 Q I'm sorry I wasn't clear, but go ahead.

11 A I've got patients who are 75 years old
12 who come in and say: Doc, you know, I just -- I love
13 to garden, that's all I care about. You know, when I
14 walk to the store I get pain in my legs, I have to stop
15 a couple times, but I don't care; you know, I'm doing
16 just what I want to do.

17 And I say: God bless you, keep in
18 touch, I'll see you.

19 I'll get noninvasive studies, perhaps, I
20 usually will, maybe put them on some Trental, have them
21 be on an exercise program, and I won't do anything
22 more.

23 I have 75-year-old people come in to me
24 and say: Doc, I love to golf, I live to golf. If I
25 can't golf, I want you to shoot me right here on this

1 spot.

2 And to that person I say: Well, we have
3 some options and these are the options. And I explain
4 to them about noninvasive studies.

5 And after I do those and he keeps
6 telling me he has pain and he can't walk through the
7 pain, and I say: Let's do an arteriogram and see what
8 we can do to help you.

9 And those are two scenarios that happen
10 all the time. And that's what I mean by lifestyle.

11 Q We do know that this patient had a .7 --

12 A -- resting.

13 Q -- resting index; correct --

14 A Yes, correct.

15 Q -- on the left side?

16 A Yes, correct.

17 There's some question, apparently, if
18 it's on the left or the right.

19 Q A question in whose minds?

20 A Well, according to the deposition of
21 Dr. Sawkar.

22 Q Do you find that a little bit
23 suspicious?

24 A No.

25 MR. BONEZZI: For what?

1 THE WITNESS: Suspicious for what?

2 BY MR. HAWAL:

3 Q Suspicious for it being manufactured
4 after the fact, that it be something --

5 A No, that it's --

6 Q -- be something that he's trying to pass
7 off as being a mistake that he made.

8 A Well, I think he's saying that there was
9 a mistake made insofar as the noninvasive studies are
10 concerned, if that's what you mean, which he signed.
11 But do I --

12 Am I suspicious? No.

13 I think if you then take the next
14 statement and the next finding, which is after exercise
15 it dropped down to .24, the index, that is, that's
16 very, very consistent with severe stenotic disease.

17 Q It's not consistent with rest pain?

18 A .24 would be.

19 And don't forget, the noninvasive
20 studies were done a couple of months or more before the
21 angioplasty was performed.

22 Q Doctor, are you saying that when it
23 dropped on exercise to .24 that that is consistent with
24 rest pain?

25 A No.

1 With severe disease.

2 Q What was the condition of her right
3 side?

4 A Meaning?

5 Q Her right leg in terms of the --

6 A What do you mean the condition?

7 Q -- the index, the ankle brachial --

8 A I think it was like .4 or something.

9 Q And it dropped --

10 A I don't recall what it dropped to.

11 Q And would that indicate a much more
12 significant degree of disease or lesions on the right
13 side; correct?

14 A It indicated, according to the
15 arteriogram, that she had a total occlusion on the
16 right side. So --

17 Q She was not having limb-threatening
18 ischemia on the left side, based upon the --

19 A Left side or the right side?

20 Q On the left side.

21 -- flow study?

22 A Well, I think when you drop down to a
23 .24 on exercise it's limb threatening, yes.

24 Q Do you think Dr. Sawkar with a resting
25 index of .7 would have been -- it would have been wise

1 of him to look at other possible causes of her
2 complaints of rest pain or pain at night in her left
3 leg?

4 A No, I --
5 In this patient?

6 Q In this patient.

7 A No. I think he had plenty of
8 information regarding her extremities with the exercise
9 studies and his physical examination to -- to warrant
10 what he did.

11 Q Is it true that about 80 percent of
12 patients with intermittent claudication improve or
13 remain stable without surgical intervention?

14 A I -- I don't know. I don't think that's
15 a fair statement, but --

16 Q Is it fair that a majority of
17 claudicators, intermittent claudicators, remain stable
18 or improve without surgery?

19 A At what level of claudication?

20 Q Intermittent claudication.

21 A At what level; half-block, six blocks?
22 See, claudication is a very big term.

23 I'd say of the people who have
24 half-block claudication, 100 percent of those -- I
25 should say 90 percent of those, not only do they not

1 get better; they get worse. But people who have
2 four-block claudication appropriately treated, I would
3 say a majority of those, probably over **50** percent,
4 probably won't need more than the conservative
5 treatment they're getting. And it depends, again, on
6 the individual.

7 Q In your experience, what type of
8 physicians generally perform balloon angioplasties of
9 the lower extremities?

10 A In my experience there are three types;
11 the invasive radiologist, the vascular surgeon, and the
12 cardiologists now are getting in on the picture.

13 Q And who is the most likely physician
14 that you're going to see in a suite performing balloon
15 angioplasty? Is it going to be an invasive
16 radiologist?

17 A In my hospital, yes.

18 Q In most hospitals that you've been
19 associated with?

20 A That I've been associated with?

21 Q Yes.

22 A Yes, that's true.

23 Q Do you perform them?

24 A No. I probably should, but up until now
25 I haven't.

1 Q Do you consider yourself an expert in
2 peripheral vascular disease as it relates to balloon
3 angioplasty procedures?

4 A Oh, yeah, absolutely.

5 Q What qualifies you as an expert if
6 you've never done one?

7 A I've been involved --

8 You know, when you do a balloon
9 angioplasty and you send it to a radiologist, you need
10 to be -- you're the man who's in the box, not the
11 radiologist; because if there's a problem, it's you,
12 the surgeon, who has to fix it.

13 So as a result, I know all about them; I
14 know how they're performed, I know complications of
15 them, I know what to expect, what to look for, et
16 cetera.

17 And if anything does go wrong, it's me
18 who has to fix it, not the radiologist.

19 Q Is arterial rupture rare if an
20 appropriately sized catheter is used?

21 A Define rare.

22 Q Less than .1 percent incidence rate.

23 A I think that's low. I think that it
24 happens more often than that.

25 Q Less than one percent?

1 A I think it happens probably more in the
2 neighborhood of two to five percent.

3 Q Can you point me to any literature that
4 would support your contention that it happens two to
5 five percent of the time?

6 A Not offhand.

7 Q There is literature out there that
8 quantifies the incidence rate of some complications
9 such as that generally?

10 A There is literature that speaks to that,
11 yeah.

12 Q What is the usual cause of rupture,
13 arterial rupture, during an angioplasty?

14 A The balloon expands and ruptures the
15 artery. It's usually because the atherosclerotic
16 disease is so severe that the artery just cracks or
17 breaks.

18 Q Is it your opinion in this case that
19 there was a rupture, arterial rupture?

20 A Yes.

21 Q And that is evident from the radiology
22 films that you've reviewed?

23 A You -- We could see that on the films.
24 It's also evident from the clinical picture as to what
25 developed.

1 Q And when you have a patient who has an
2 arterial rupture, is it appropriate to take that
3 patient out of the OR without dealing with that
4 rupture?

5 A It depends, again, clinically on how the
6 patient's doing and what's going on.

7 You know, you can have a very, very
8 small rupture, a very small leak, if you will, and I
9 believe often do. And I have certainly seen it more
10 than -- on more than many occasions, actually, where
11 there is a small leak of the small mount of
12 extravasation of contrast where you crack the plaque --

13 And understand in a balloon angioplasty
14 you're literally rupturing the lining of the artery;
15 that's the whole intent of this.

16 The key is to keep the rupture contained
17 to the artery wall and not outside the artery wall.

18 But occasionally you do get
19 extravasation. That in and of itself is not an
20 indication for doing anything more than watching the
21 patient, if the patient is stable, if you have good
22 pulsations, if there's no evidence of any further
23 bleeding.

24 Q You have seen the radiology report that
25 indicates that there was a large amount of contrast

1 material outside the venous system?

2 A I have it right here.

3 That was done a day later, dictated a
4 day later.

5 Q But it was reviewing the same film.

6 A Of a day later, that's correct.

7 Q Do you agree that there was a large
8 amount of contrast?

9 A There was contrast outside. I don't
10 know how to quantify a large amount.

11 Q Wouldn't it be inconsistent to have an
12 arterial rupture to the extent there was that amount of
13 contrast outside of the venous system that -- or the
14 arterial system that would be capable of producing
15 normal peripheral pulsus?

16 A With just --

17 No, because the patient did have
18 palpable popliteal pulsus after the procedure, which
19 would indicate that there wasn't that much contrast
20 outside the wall.

21 Don't forget, this is a radiologist
22 who's making a very subjective assessment of what he
23 sees on one x-ray.

24 Q Can you explain why Dr. Sawkar didn't
25 see what the radiologist saw and what you see?

1 A I don't think Dr. Sawkar actually had a
2 cut film at the time; he had probably real-time
3 fluoroscopy, when you do that.

4 Q Can you explain why he still doesn't see
5 it?

6 A The images aren't anywhere that clear.
7 I mean, you might see a little bit of extravasation;
8 maybe not, but you shouldn't -- you won't see the
9 frozen-in-time, if you will, shot that they get on the
10 x-ray.

11 Furthermore, the x-ray is a lot bigger
12 than the screen is that Dr. Sawkar's looking at. And
13 so it's magnified.

14 Q Did Linda Paino have three levels of
15 disease in her left leg?

16 A I think that's probably more than three
17 levels of disease; yeah, a lot of disease, diffuse.

18 Q What kind of patency would you expect
19 for her after 24 months or -- after 12 months with
20 angioplasty, after angioplasty?

21 A It's -- it's hard to say. Some people
22 are stinting these things now. Some people -- some
23 people aren't doing them at all. Some people -- It
24 depends on an individual.

25 But I think a patency at 12 months, you

1 would hope to see in the neighborhood of **65 to 70**
2 percent.

3 Q And a patient with her vascular disease,
4 you would?

5 A Oh, yes, yeah.

6 Q So you would consider her to be a good
7 angioplasty candidate?

8 A I think at the time she was a pretty
9 good candidate, yeah.

10 Q You indicate in your report that it was
11 Dr. Sawkar's feeling at the time that the right vein
12 would have been equally diseased, given her history,
13 thus precluding its use; correct?

14 A You quoted me.

15 Q That was my intent.

16 Why did he try to harvest the left
17 saphenous vein if he knew that that was the vein that
18 he had had -- or she had had significant problems with
19 back in 1993 with regard to phlebitis and DBT's?

20 A Because he was on the left side and
21 he -- and the incisions were there, and that's the
22 appropriate thing to do.

23 And whether or not you have phlebitis
24 doesn't necessarily mean that you're going to have a
25 nonusable vein.

1 Q Would it be the expectation, based upon
2 your knowledge of this patient's history, that the
3 likelihood of that vein being usable would be very
4 remote?

5 A I -- I wouldn't say that, no.

6 Q What would you say; that there was a
7 good likelihood that that vein would be usable?

8 A I'd say that you'd need to look at the
9 vein and see if it was usable.

10 Q And knowing the extent of disease on her
11 left side versus what is in the record about her right
12 side --

13 | A Are we talking about the veins now?

14	Q	Yes, saphenous veins for harvest.
----	---	-----------------------------------

15	A	Okay.
----	---	-------

16 Q -- should Dr. Sawkar have not gone to
17 the right leg and harvested that vein as Dr. Droubi did
18 later?

19 A I don't believe so.

20	Q	Why not?
----	---	----------

21 A Well, for several reasons, the first of
22 course is which he felt that the vein would have --
23 After looking at the left side he felt that the right
24 side would have been equally bad, number one.

25 | Number two, I think that in his own mind

1 he felt that a prosthetic wrap would work fine. And I
2 have no problem with it at all. I think that
3 prosthetic wraps do work fine and give good patency and
4 good limb salvage. So I saw no reason to --
5 Personally I think it was the correct thing to do.

6 Q Does not the literature indicate that
7 four-year patency rates for synthetic cortex grafts are
8 much lower than vein grafts?

9 A Define much lower, please.

10 Q Significantly lower, in terms of 30 or
11 40 percent lower.

12 A No.

13 Q 20 percent lower?

14 A Depends on the individual, depends on
15 primary patency, secondary patency rates, depends upon
16 anticoagulation; depends on a host of factors --

17 Q All things --

18 A -- with the point being that they do
19 work.

20 Q All things being equal, Doctor, you
21 always want to have a vein as opposed to a cortex
22 graft, don't you?

23 A If you can get it from the ipsilateral
24 side, yes; if not, no.

25 And to be honest with you, in the

1 above-the-knee, fem-pop position, as an example, I
2 personally, in my experience -- and there's literature
3 that will back that up, as well -- the cortex graft
4 works just as well as a vein graft.

5 Q That's your experience?

6 A Oh, yeah.

7 Q Certainly, I don't --

8 Would you be able to point me to any
9 literature that would support the position that the
10 experience among vascular surgeons is similar to yours?

11 A Yeah, there are articles about
12 above-the-knee fem-pop graft of the cortex being --

13 Q -- equal to or better than?

14 A -- equal to or about the same.

15 Q You don't have them --

16 A Not offhand, but they're in there.

17 Q What should be done in terms of
18 monitoring a postop bypass patient to ensure graft
19 patency postoperatively?

20 A Physical examination is the most
21 important thing. Generally speaking, if the graft
22 fails, you know it because the patient's leg becomes
23 very ischemic.

24 You could do Doppler studies or if
25 pulsus are palpable you palpate the pulsus and if you

1 lose the pulsus, then that's one sign.

2 You can do a Doppler bedside evaluation
3 of the pulsus. And if they change and/or you lose
4 those, that's another sign.

5 But the patients will usually tell you
6 my grafts are occluded, because they develop severe
7 pain.

8 Q Can we agree that by midnight this
9 patient's graft went bad?

10 A I believe that's about the right time on
11 the 27th -- 28th.

12 Q Right after the --

13 A -- bypass.

14 Q -- midnight -- after the bypass
15 procedure?

16 A The bypass, yeah.

17 Q What was done for her?

18 A She was evaluated and monitored,
19 basically.

20 Q And when a graft occludes, how much time
21 is there, generally recognized, before the patient's
22 ischemia progresses to the point that it is -- that the
23 limb can be nonsalvageable if reoperation is not
24 performed?

25 A That really depends upon the circulatory

1 status of the patient, the level of the graft, the
2 collateral blood flow, et cetera.

3 Q Is it generally recognized in the
4 literature that you want to do a redo by 12 hours after
5 thrombosis or graft occlusions?

6 A If you're going to do a redo, yeah.

7 Q And in fact, if the neurological status
8 of the affected extremity starts deteriorating, you
9 want to do it sooner than that; correct?

10 A Again, if that's your intention, if you
11 can get to it, yes.

12 Q And you know from Dr. Sawkar's testimony
13 that it was his desire to attempt a redo?

14 A From his testimony?

15 Q Yeah.

16 A There was talk about him bringing in a
17 cadaver vein. Is that what you're speaking to?

18 Q Yeah, uh-huh.

19 A Yes.

20 Q Was that an appropriate decision or a
21 recommendation to the patient?

22 A Yeah, it's -- it's appropriate.

23 Q And when would you like to have seen,
24 based upon your review of the nursing notes, as to when
25 you would have liked to have seen that procedure

1 implemented or be done?

2 MR. ALLISON: I'm sorry. Could you
3 repeat that question?

4 MR. HAWAL: Sure.

5 BY MR. HAWAL:

6 Q From your review o the nursing notes
7 when would you have liked to have seen that procedure
8 begun?

9 A Sometime within the 24-hour period that
10 the graft occluded.

11 Q 24 hours?

12 A Yeah.

13 Q And why 24 **nours** and not 2?

14 A Because I don't think there's a magic
15 number and I think once you make a decision to
16 revascularize, you have, you know, a little bit of time
17 to -- to make that decision and to go ahead and to do
18 the revascularization, and especially in somebody who's
19 been ischemic for a while, and I mean chronically
20 ischemic, like she was.

21 Q All right. So it's your position that
22 as long as that surgery was started within 24 hours, it
23 would be appropriate?

24 A Sure.

25 I think it was appropriate to do it when

1 Dr. Droubi did it, too.

2 Q And would the likelihood of success have
3 been greater if it had been done within several hours
4 of the graft failure or occlusion?

5 A Would you define success, please?

6 Q Yes.

7 Limb viability in terms of functional
8 use of the limb, lack of neurological -- permanent
9 neurological damage.

10 A You know, it seems to me this woman did
11 have a functioning, viable limb 36 hours later or 40
12 hours later.

13 Q But is it generally the case that the
14 sooner you do it, the more chance of success you're
15 going to have in terms of having a viable limb that's
16 going to function relatively normally?

17 A You know, no, not really.

18 I think what you need to talk about --

19 When you're doing limb salvage, you're
20 doing limb salvage.

21 The operation that was performed by
22 Dr. Droubi wasn't done to salvage the peritoneal nerve;
23 it was to salvage the foot; limb salvage, not nerve
24 salvage.

25 And that's what you're shooting for in

1 all of these operations. That's the same thing
2 Dr. Sawkar was shooting for, is the limb salvage.

3 And I think the other stuff you're
4 talking about, foot-drop and peritoneal nerve palsy,
5 you know, that happens very early on, within two, three
6 hours, actually, and that usually -- Very often that
7 will come back, because the peritoneal nerve is a
8 peripheral nerve.

9 And, in fact, one of the consultants
10 even commented about that.

11 Q Doctor, can we agree that the sooner one
12 revascularizes an ischemic leg the better off the
13 patient's prognosis is going to be?

14 A For limb salvage, yes.

15 Q For functional limb use?

16 A No.

17 Time matters in limb salvage, but the
18 functional use, I've never seen that it would matter
19 you do it in 6 hours, whether you do it in 24 hours, if
20 the limb is -- if the limb survives.

21 Q Can you give me any references to any
22 medical literature that supports that position that
23 you've just stated?

24 A About functional use?

25 Q Yes.

1 A No.

2 But I can't give **you** any that disproves
3 it, either.

4 I mean, we're talking about limb salvage
5 here, and that's the key.

6 Q Would Mrs. Paino have suffered less of a
7 degree of dysfunction if she had had Dr. Droubi come in
8 and bypass that graft at 2:00 a.m. on the 27th -- or
9 that would be the 28th now, of February?

10 A I don't think so.

11 I mean, and I use as my reference for
12 that the fact that almost a month after Dr. Droubi's
13 successful limb salvage bypass he was still debriding
14 dead muscle. That was occurring a month later. That
15 dead muscle occurred a month after the bypass.

16 I don't think we can sit here and say:
17 Well, that happened on the 27th or the 28th at
18 2:00 a.m.

19 That dead muscle happened on the 26th of
20 March.

21 So we have a functional graft; we have a
22 foot that's now saved. But the muscle's still dying in
23 the calf.

24 How do you explain that except the fact
25 that it doesn't matter when it was done insofar as limb

1 salvage is concerned?

2 Q Would you expect that Dr. Sawkar would
3 have fallen below the standards of care if he was
4 giving the family only an option of amputation?

5 A Absolutely not.

6 Q So that would have been within the
7 standard of care for him to do?

8 A Absolutely.

9 Q I take it you disagree with Dr. Seaberg
10 in his testimony that earlier surgery, in terms of
11 attempting to revascularize that leg, would have been
12 the appropriate step to be taken?

13 A No, I don't disagree.

14 I think you might be misunderstanding me
15 when I say that you can wait.

16 I think optimally, if your choice is to
17 revascularize, you revascularize as quickly as you can.

18 But sometimes your choice is not to
19 revascularize. Sometimes the better choice is to
20 proceed with amputation.

21 Q Well, why did not Dr. Sawkar seek to
22 revascularize sooner?

23 A Maybe he didn't feel it was an option.

24 Q I thought he testified that he wanted --
25 he was recommending to the family that that would be an

1 option.

2 A An option, but not a very good option.

3 I think -- First of all, I believe he
4 said the family didn't want the cadaver vein. And I
5 think that was the only thing he offered them.

6 He also, if you look at the record, I
7 think, felt an amputation was -- and told the family
8 many or several occasions that he feels the patient
9 will come to amputation. He told the patient that.

10 Q Only as secondary procedure after
11 revascularization failed?

12 A Yeah, after his fem-pop bypass failed
13 and as she progressed.

14 Q Well, didn't he say that if the cadaver
15 vein harvest procedure was unsuccessful, then she would
16 probably at that point require an amputation?

17 A Oh, for sure.

18 Q Well, isn't that what he was attempting
19 to express to the family?

20 A Well, I -- He did express that.

21 But the family refused, according to his
22 testimony.

23 Q Uh-huh.

24 A And again, this is his testimony.

25 Q Yes. Did you believe his testimony in

1 that account?

2 A He did this under oath, didn't he?

3 Q I'm just asking you.

4 A Well, did he do it under oath?

5 Q Presumably.

6 A Weren't you there?

7 Q Presumably.

8 A You weren't there?

9 Q I was there.

10 A Well, then I -- of course I have to
11 believe it.

12 Q Did you see any reference in the medical
13 record about refusal by the family for any medical
14 procedure or recommendation by Dr. Sawkar?

15 A No.

16 Q If you had a patient that refused your
17 recommended treatment and you knew that the alternative
18 would be an amputation, wouldn't that be something that
19 you would want to chart in the record?

20 A I have it all the time when people want
21 to go to amputation and I -- You know, I always put
22 down that the patient will come to amputation.

23 Q Isn't it true --

24 A I think Dr. Sawkar actually has a signed
25 amputation --

1 Q That's another question.

2 A Well, I mean, it's in the record.

3 Q My question, my question, Doctor --

4 A Okay.

5 Q -- is if you are recommending to one of
6 your patients a medical procedure that you think may
7 salvage a patient's extremity and that patient is
8 refusing your medical advice and recommendation and
9 that you know that the only alternative upon refusal is
10 an amputation, and the family or the patient continues
11 refusal, will you not document that refusal in the
12 medical records?

13 A Based upon what I know about
14 medical/legal reasons, yes, medical reasons.

15 Q In fact, you would have witnesses to
16 that discussion, wouldn't you?

17 A No.

18 Q No?

19 A I mean, purposely?

20 No.

21 Q As long as it was documented?

22 A I usually try to document everything
23 only because it's, you know, the smart thing to do in
24 this climate. But it's not negligent not to.

25 Q What is your understanding as to when

1 Dr. Sawkar explained the complications of angioplasty
2 to this patient?

3 A My understanding is he explained it on
4 several occasions --

5 Q Before the proceeding?

6 A -- on at least --

7 Yeah, on at least two.

8 Q What is W.L. Gore (phonetic) &
9 Associates you have referenced in your CV?

10 A What is it?

11 Q Yeah.

12 A It's a company that makes --

13 Q Makes cortex?

14 A Makes cortex, yeah.

15 In my CV, I was --

16 Q Pardon me?

17 A Oh, the suture, there was some carotid
18 patch studying. I think there was a fem-pop study,
19 also.

20 Q Is that a clinical trial you were
21 involved with?

22 A Well, yeah. I think it was a movie that
23 I made on the -- I was involved with the clinical trial
24 of the suture and I did a couple of films using their
25 products and procedures that I happen to do. And they

1 asked if they could film me.

2 Q Do you have certification of added
3 qualifications in vascular surgery?

4 A No. I finished in 1979 before that was
5 available.

6 Q Did you do a fellowship in vascular
7 surgery?

8 A No. I spent nine months with John
9 Bergan, B-e-r-g-a-n, and John Yao, Y-a-o, at
10 Northwestern at the time when fellowships were being
11 developed, based upon my personal clinical experience.

12 Q What is the degree or percentage of your
13 practice that is devoted to peripheral vascular surgery
14 as opposed to general surgery?

15 A It's about --

16 MR. ALLISON: I lost that whole
17 question.

18 BY MR. HAWAL:

19 Q What is the percentage of the practice
20 that is devoted to peripheral vascular surgery as
21 opposed to general surgery?

22 A Approximately 50/50. It would have been
23 more, perhaps, vascular lately.

24 Q Do you know Dr. John Porter?

25 A I don't know him personally. I've met

1 him and I know who he is.

2 Q What is his standing in the community of
3 vascular surgeons?

4 A He's a professor of surgery at the
5 University of Oregon, of vascular surgery.

6 Q What is his standing among your peers;
7 well respected, well regarded?

8 A Let's just say he's controversial.

9 Q In what regard?

10 A He's pretty dogmatic, pretty pragmatic.
11 I think Dr. Porter might even agree with that. And
12 maybe not.

13 But he's very opinionated when it comes
14 to certain things, a very big believer in reverse
15 saphenous vein as the only conduit of choice for
16 revascularizations.

17 I think he is looked upon as the
18 advocate of saphenous vein, almost like a torchbearer
19 it. So that's his regard.

20 I think people who don't particularly
21 care for saphenous veins might look upon him as a
22 little off base.

23 I think he's a very good man; I think
24 he's a good surgeon.

25 Q Are you affiliated with this hospital

1 right next door?

2 A Northwest Hospital, yes.

3 Q Columbia Northwest Medical Center?

4 A That's the one.

5 Q I mean, is this where your practice is
6 confined?

7 A Primarily. Primarily. Not confined,
8 but --

9 Q What size hospital is it?

10 A It's about a 200-and-some bed, 220-bed
11 hospital.

12 Q What caused you to leave Cleveland or
13 what was your reason for leaving Cleveland?

14 A Primarily the weather. I just couldn't
15 stand it anymore.

16 Q Are you still PIE insured?

17 A No, I'm not.

18 Q In terms of your familiarity with the
19 attorneys at Jacobson, Maynard, how many of them do you
20 know socially as opposed to jus, strictly on a
21 professional basis?

22 A Did I know or now?

23 Q Both.

24 A Well, now I don't have any social
25 relationships with them since I'm in Arizona.

1 One of them was a neighbor of mine many
2 years ago, maybe --

3 Q Is that Pat?

4 A Pat Murphy, right.

5 And we would see he and his wife and his
6 kids occasionally socially, being that we lived on the
7 same street. And that's about it.

8 Q What other lawyers did you know socially
9 at that law firm?

10 A That's all I knew socially.

11 I've known Mr. Bonezzi for many years --

12 Q How many years?

13 A -- but professionally, only.

14 What would you say, Bill, about 10
15 years, 15 years?

16 Q Since I don't have Bill under oath, he
17 won't answer that question, I'm sure, but --

18 A I don't know. Maybe in the neighborhood
19 of 10, 15 years. Maybe 10 years.

20 Q Have you ever played golf together?

21 A I think maybe once or twice together,
22 usually related to he'll come out here and I'll see
23 him -- Once.

24 In Cleveland, and I believe we were
25 doing a case together, and we played golf; not very

1 often, unfortunately. I wish we played more together.

2 Q Have you ever had your license or
3 privileges suspended or restricted in any way?

4 A No.

5 Q Are there any opinions that we haven'
6 discussed here today that you believe might be
7 important in terms of your total understanding of this
8 case?

9 A No, I think they've been pretty well
10 expressed.

11 I just don't think Dr. Sawkar did
12 anything wrong. ~~_____~~

13 Q I fully appreciate that belief on your
14 part.

15 MR. HAWAL: I don't have anything
16 further.

17 Tom, you can have as much time as you
18 need. I'm done.

19 MR. ALLISON: All right. Thank you.

20

21 EXAMINATION

22 BY MR. ALLISON:

23 Q Dr. Pitluk, just a couple of quick
24 questions.

25 As Mr. Hawal just asked you, you know,

1 we've pretty much discussed all of your opinions that
2 you've formulated on this case; is that correct?

3 A Yes.

4 Q And is it fair to say, then, that you
5 have no opinions which would be critical of the
6 residents or the nurses at Fairview General Hospital?

7 A Correct.

8 MR. ALLISON: Thank you, Doctor. That's
9 all I have.

10 MR. HAWAL: Okay.

11 MR. BONEZZI: Tom, hang on because I
12 want to talk to you for a moment.

13 (At the hour of 2:25, the deposition was
14 concluded.)

15

16

HOWARD CHARLES PITLUK, M.D.

17

18

19

20

21

22

23

24

25.

1 STATE OF ARIZONA }
2 COUNTY OF PIMA } ss:
3

4 BE IT KNOWN that I, Carol A. Post, took the
5 foregoing deposition pursuant to notice at the time and
6 place stated in the caption thereto; that I was then
7 and there a Notary Public in and for the County of
8 Pima, State of Arizona; that by virtue thereof, I was
9 authorized to administer an oath; that the witness
10 before testifying was duly sworn to testify the truth,
11 the whole truth, and nothing but the truth; that the
12 testimony of said witness was reduced to writing under
13 my direction and the foregoing pages contain a true and
14 correct transcription of my notes of said deposition.

15 I FURTHER CERTIFY that I am not of counsel nor
16 attorney for either or any of the parties to said
17 action or otherwise interested in the event thereof,
18 and that I am not related to either or any of the
19 parties to said cause.

20 IN WITNESS WHEREOF, I have hereunto subscribed
21 my name and affixed my seal of office this 24th day of
22 July, 1997.

23 *Carol A. Post*

24 Carol A. Post
25 Notary Public

