

State of Ohio,                    )  
County of Cuyahoga.    )    SS:

IN THE COURT OF COMMON PLEAS

BELINDA HALL, ADMINISTRATRIX of    )  
the ESTATE of DELMAR DARRELL,       )  
                                  Plaintiff,        )  
vs.                                        ) Case No. 363835  
  )  
KAISER PERMANENTE, et al.,            )  
  )  
                                  Defendants.        )

                                  - - - - -  
THE DEPOSITION OF JEFFREY L. PONSKY, M.D.  
----- WEDNESDAY, OCTOBER 20, 1999 -----  
                                  - - - - -

The deposition of JEFFREY L. PGNSKY, M.D.,  
called by the Plaintiff for examination pursuant to  
the Ohio Rules of Civil Procedure, taken before me, the  
undersigned, Robert J. Wanous, Notary Public  
within and for the State of Ohio, taken at the  
Cleveland Clinic, 9500 Euclid Avenue, Cleveland  
Ohio, commencing at 3:05 p.m., the day and date above  
set forth.

                                  - - - - -  
  
**CANY : WANOUS REPORTING SERVICES, INC**  
**55 PUBLIC SQUARE**  
**1225 ILLUMINATING BUILDING**  
**CLEVELAND, OHIO 44113**  
**(216) 861-9270**



1  
2 APPEARANCES:

3 On behalf of the Plaintiff:

4 William Hawal, **Esq.**  
5 Stuart E. Scott, Esq.  
6 Spangenberg, Shibley & Liber  
7 2400 National City Center  
8 Cleveland, Ohio 44114  
and  
9 John W. Martin, **Esq.**  
10 800 Rockefeller Building 44113

11 On behalf of the Defendants:

12 Beverly Sandacz, Esq.  
13 Reminger & Reminger  
14 The 113 St. Clair Building  
15 Cleveland, Ohio 44114  
16 -----  
17  
18  
19  
20  
21  
22  
23  
24  
25

1  
2 JEFFREY L. PONSKY, M.D.

3 of lawful age, called by the Plaintiff for  
4 examination, pursuant to the Ohio Rules of Civil  
5 Procedure, having been first duly sworn, as  
6 hereinafter certified, was examined and  
7 testified as follows:

8 EXAMINATION OF JEFFREY L. PONSKY, M.D.

9 BY MR. HAWAL:

10 Q Please state your full name, Doctor.

11 A Jeffrey Lawrence Ponsky.

12 Q I presume you have testified by way of  
13 deposition in the past?

14 A Yes.

15 Q If at any time my questions aren't clear, you  
16 don't understand what I am asking, please let me  
17 know. All right?

18 A Okay.

19 Q Thank you. I am just going to ask you what you  
20 have reviewed as a part of your consultation in  
21 this case? And your secretary just brought in a  
22 stack of materials.

23 A Right.

24 Q And this would be your file.

25 A Yes, it has been awhile since I reviewed every

aspect of it but I read it all.

Q That would include the deposition of Dr. McCalla?

4 A Yes.

5 Q Two volumes of the chart of various medical  
6 records for Mr. Darrell?

5 A Yes.

8 Q Anything else other than the materials that I  
9 have just identified?

10 A I don't believe so.

11 Q Have you looked at the deposition transcripts of  
12 Drs. Vogten and Brown?

13 A Yes. Yes. Yes. They are not there. I have  
14 read them. I read those earlier.

15 Q And ultimately you prepared a report that I  
16 believe you have in front of you, dated June  
17 29th, 1999?

18 A Exactly. Correct.

19 Q Is that the one and only report you have  
20 prepared?

21 A Yes.

22 Q Are there any other drafts of this report that  
23 are available?

24 A No.

25 Q How many times have you testified as an expert

1 witness in the past?

2 A By, you know, deposition?

3 Q Deposition.

4 A Or anything?

5 Q Or at trial?

6 A A lot. I don't know. Perhaps 15.

7 Q All right. And has that been primarily since  
8 you have been here at the Cleveland Clinic?

9 A No.

10 Q A lot of that was preexisting your tenure here  
11 at the Clinic?

12 A Yes.

13 Q Have any been for the plaintiff?

14 A Yes.

15 Q What percentage would you estimate for the  
16 plaintiff versus the defendant?

17 A Maybe 15 percent.

18 Q For the plaintiff?

19 A Uh-huh.

20 Q Have any of your prior depositions as an expert  
21 involved issues relating to PEG and specifically  
22 relating to complications of bowel perforation,  
23 that come to mind?

24 A Well, the funny thing is I review a lot of these  
25 cases, some of them never get to the deposition

or court and I can't remember -- a lot of them are involving PEG.

Q All right.

A But I don't remember how many of them actually went to anything.

Q Got you. Of the ones that you have reviewed that you recall involving PEG, do any of them involve transection of the colon?

A You mean perforation of the colon?

Q Perforation of-the **colon**, right?

A I believe one or two may have, yes.

Q Would those have been defense cases or cases in which you were retained by the defendant?

A Defense, yes. Yes.

Q Is it fair to say that you have a particular interest in PEG?

A Yes.

Q And that has been true since about 1980?

A 1979.

Q '79? All right. And what was your position at Mt. Sinai at that point in time?

A Well, I was the director of the Department of Surgery there.

Q All right. And Dr. Gouder was a colleague of yours in that department?

1 A No, Dr. Gouder and I worked together a month or  
2 so before -- we worked for years before, but we  
3 did the first PEG about two months before I went  
4 to Mt. Sinai. I was at Case Western Reserve,  
5 University Hospitals.

6 Q Okay. And was Dr. Gouder your elder or superior  
7 or contemporary?

8 A Contemporary.

9 Q What was Dr. Gouder's role relative to yours or  
10 Dr. Izant's, with regard to development?

11 A Dr. Izant did nothing in the development of it.  
12 He was just our friend. He was the senior  
13 pediatric surgeon there. So we put him on the  
14 paper. Dr. Gouder and I designed every aspect  
15 of the procedure together.

16 Q If you were to describe your shared  
17 responsibility, how would you?

18 A 50/50.

19 Q You have written rather extensively on PEG since  
20 that time?

21 A Yes.

22 Q Would it be fair that the technique for PEG has  
23 been improved and refined since 1980?

24 A In small ways.

25 Q Okay. There has been some evolutionary change?



1 A Yes.

2 Q In the procedure?

3 A Yes. Yes.

4 Q And that change has come about as a consequence  
5 of the global experience of physicians like you  
6 and the reporting of those experiences in the  
7 medical literature?

8 A Yes.

9 Q I take it that part of your goal and part of the  
10 goal of your colleagues who have a particular  
11 interest in PEG, in reporting your experience  
12 into the literature, is to improve with regard  
13 to morbidity and mortality for others that are  
14 learning about PEG placement?

15 A Yes.

16 Q Would it be fair to say, Doctor, that the  
17 standard of care with regard to PEG placement  
18 has in fact evolved as a consequence of your  
19 contributions and the contributions of others to  
20 the body of medical literature about PEG?

21 A Yes.

22 a Would you agree that some complications from  
23 invasive procedures like PEG can be improved or  
24 reduced by meticulous surgical technique?

25 MS. SANDACZ: Objection. Go

ahead.

A Yes.

Q When you first described this procedure in your medical publication, I believe that you described it as simple, safe and rapid. Do you recall that?

A Uh-huh.

8 Q Yes?

9 A Yes.

10 Q You initially did not describe the procedure to  
11 include transillumination? In your initial  
12 publication?

13 A It has been a long time since I have looked at  
14 that particular article. Very soon after the  
15 original article, we did describe  
16 transillumination.

17 Q But initially, transillumination was not  
18 described as the first published description of  
19 the PEG?

20 A In the first description.

21 Q Okay. But soon thereafter, you had described  
22 and promoted transillumination as a technique  
23 for PEG?

24 A Yes.

25 Q And why was that?

1 A Well, we used transillumination from the first  
2 time. I don't have the original publication  
3 before me now. If you do, I would love to look  
4 at it.

5 Q Sure, I do.

6 A Because I've written so much about it, but early  
7 on, even in the first case, we used  
8 transillumination quite extensively, because it  
9 was important in identifying the site of a  
10 puncture. And this is the one that we pushed in  
11 pediatric surgery. Let's just see. Yes. It  
12 speaks about transillumination indirectly and  
13 even the first one, it says if the lights in the  
14 room are dimmed the gastric contour and  
15 gastroscope can be discerned. So that refers to  
16 what we were doing in terms of  
17 transillumination. That is why we dim the  
18 lights in the room. I knew we mentioned it in  
19 some way, even in that one.

20 Q But ultimately it became more of a focus of your  
21 writings and those of others, from the  
22 standpoint of it being described as an integral  
23 part of the procedure?

24 A Yes.

25 Q And that would be a safety measure, correct?

1 A It was.

2 Q And what transillumination was being promoted to  
3 prevent, would be to prevent complications with  
4 regard to bowel perforation?

5 A That is the idea. That is the idea.

6 Q Transillumination ultimately did become the  
7 standard of care for endoscopists performing  
8 PEG?

9 A For awhile.

10 Q Is it still the standard of care?

11 A No. There is a reason for that, by the way.  
12 Which--

13 Q Okay.

14 A Which I write about in my recent writings. In  
15 fact, I just finished a chapter and that is in  
16 the new gastroscopes, the video gastroscopes  
17 don't provide as bright a light to  
18 transilluminate and in some patients you just  
19 can't transilluminate, so we use different  
20 measures now.

21 Q Do you know what kind of scope was used? Was a  
22 video scope used in this procedure?

23 A You know, I don't know that answer. I don't  
24 know the answer.

25 Q Later, correct me if I am wrong, after you

1 initially described this procedure and then  
2 began to describe transillumination as part of  
3 the -- go ahead.

4 A Please let me interrupt you. It was video  
5 endoscope.

6 Q Sure. All right. So basically what evolved  
7 with the advent of video endoscope is that  
8 transillumination became less valuable as a  
9 safety tool because of the quality of the light  
10 that was transilluminating the abdominal wall?

11 A Yes, we used other things instead.

12 Q So transillumination via video endoscope would  
13 not be satisfactory for being utilized as the  
14 sole safety precaution?

15 A Right.

16 Q All right. Later you recommended, correct me if  
17 I am wrong, endoscopically visualized abdominal  
18 palpation?

19 A Right.

20 Q And that was utilized as a secondary or  
21 additional precautionary measure to prevent  
22 bowel perforation during PEG placement?

23 A I think it helps optimize the best site, yes.

24 Q You have reported in the literature over the  
25 years, your own personal experiences with your

1 patient populations and with regard to PEG  
2 procedures?

3 A Yes.

4 Q And I take it that one of the reasons for that  
5 is to educate your colleagues, both with regard  
6 to the risks that they can expect with the  
7 procedure and what steps they can best take to  
8 avoid or minimize those risks, correct?

9 A Right.

10 Q Can you again -- I know this is going to be  
11 probably a very general estimate, but can you  
12 give me an estimate as to the number of PEGS  
13 that you personally placed or participated in?

14 A Probably a thousand.

15 Q If not more?

16 A Yeah.

17 Q Would I be correct in saying that of all the  
18 publications that you have contributed to the  
19 body of the medical literature on PEG, you have  
20 not described one particular patient of yours  
21 who has sustained bowel perforation during PEG  
22 placement?

23 A I don't think that is correct. Because we did  
24 report, Mike and I reported, I think, two  
25 gastrocolic fistulas, with PEG.

1 Q And when was that in relationship to present  
2 day?

3 A Early on

4 Q Early on?

5 A Yes. We did report. I can think of two. One in  
6 a child and one in an adult

7 Q All right. And that would have been as this PEG  
8 procedure was first being developed or within  
9 several years of that time?

10 A It was within several years of that time.

11 Q But since then you have not had that experience,  
12 correct?

13 A Not to my knowledge

14 Q All right. Doctor, can gastrocolic fistulas  
15 develop as a later complication of PEG placement  
16 rather than an acute bowel perforation during  
17 the procedure. Performance of the initial  
18 procedure itself?

19 A The most common reason it occurs is because the  
20 bowel is injured with the original PEG itself  
21 very often. We don't even know about it until  
22 the tube is changed because the colon can be  
23 gone through and through into the stomach and  
24 then when they change the tube, the tube is then  
25 placed into the first lumen it comes to which

1 is the colon. So then they appear with  
2 diarrhea. That can be a year later. Sometimes  
3 they just have diarrhea right within a few  
4 weeks. But in rare cases, pinching of the colon  
5 or erosion of the colon adjacent to the  
6 gastrostomy can cause the fistula.

7 Q And in terms of the two patients that you  
8 described, you do not know if the former or the  
9 latter occurred?

10 A I think in both of them, I can honestly say I  
11 think we punctured the colon.

12 Q Does the procedure entail elevating the  
13 patient's head?

14 A I don't.

15 Q You don't?

16 A

17 Q Well, that is a frequently described --

18 A A lot of people do.

19 Q component?

20 A Yes, a lot of people do.

21 Q And what is the purpose of that?

22 A The idea is to let gravity pull the stomach down  
23 away from the rib cage a little bit so you have  
24 better access for it.

25 Q Isn't it also thought to displace the bowel away



1 from the stomach?

2 A I don't know.

3 Q The bowel contains air, correct?

4 A Yes.

5 Q And that is universally accepted?

6 A That the bowel contains air? Yes. Not all of  
7 the bowel is filled all the time, but the bowel  
8 has air in it for sure.

9 Q Isn't it generally recognized among endoscopists  
10 who per-form, or surgeons or who perform-the-PEG,  
11 that when you position the patient with the head  
12 or torso elevated, what you are going to do is  
13 you are going to cause air to migrate or find  
14 its way to the upper reaches of the bowel rather  
15 than have it in the lower reaches of the bowel;  
16 is that not correct?

17 A You know, I never thought about it. I never  
18 even considered where the air is in the bowel  
19 when I do a PEG. Except that we don't want to  
20 over distend the bowel and we -- I understand  
21 why people elevate the head of the bed. I  
22 understand it. I don't use it.

23 Q Let me ask you, if a physician who is performing  
24 the PEG carefully transilluminates the bowel or,  
25 I am sorry, transilluminates with the

1           endoscopist and does the finger palpation  
2           technique that is advocated, how does one do  
3           that and get a perforation of the bowel?

4       A       My impression of how this happens at times is  
5           that the colon is not necessarily perforated  
6           directly but pinched. And that it is squeezed.  
7           It intervenes as the stomach is pulled up into  
8           the abdominal wall and that it is pinched in  
9           between the stomach and the abdominal wall and  
10          can become necrotic and perforate. I think that  
11          can happen even though you localize the site.

12       Q       Transecting the center of the transverse colon,  
13           perforating it during a PEG, if you are using  
14           transillumination and finger palpation, should  
15           not occur, correct?

16       A       It can occur.

17       Q       It can?

18       A       It can. And that is one of the reasons why  
19           people, as I said, have reported that when they  
20           change the tube it enters the lumen of the colon  
21           directly.

22       Q       Can it occur in patients who do not have  
23           abdominal adhesions from prior surgery?

24       A       Yes, it can.

25       Q       And you base that statement on what?

1 A I have photographs showing it.

2 Q Wall, you indicate -- do you have photographs of  
3 it from your own personal patients?

4 A No. people send me these cases

5 Q Let me ask you if you agree with this statement

6 Careful attention to selection of the site for  
7 abdominal puncture by seeking clear

8 transillumination of the abdominal wall has  
9 prevented puncture of organs adjacent to the  
10 stomach. Do you agree with that statement?

11 A It has in most cases, yes.

12 Q And is it more important for the endoscopist and  
13 his assistant to pay careful attention to detail  
14 during this procedure?

15 A Of course.

16 Q Is prior laparotomy a contraindication to PEG?

17 A No

18 Q Do certain additional precautions need to be  
19 taken in a patient who has a prior laparotomy?

20 A I think the procedure is the same I use myself  
21 techniques that have been published before.

22 which very few people use. that I keep writing  
23 about now more and more to even enhance the

24 safety of it And if I can tell you about it  
25 There is a technique that is published in the

1 literature that almost nobody uses. And I  
2 didn't push it. It was produced by a fellow  
3 named Foucth, F-o-u-c-t-h, which is called the  
4 safe track technique.

5 Q I will get that to that. Right.

6 A I use this procedure routinely. I believe it  
7 helps prevent the type of perforation that you  
8 talk about. I write about it extensively. And  
9 in my experience with excellent endoscopists  
10 ~~throughout the world, it is rarely used by them.~~

11 I am trying to change that. But to this date, I  
12 can tell you that it is not used widely.

13 Q And how do you come to that conclusion, Doctor  
14 by speaking to people?

15 A Yes. I go around to all these meetings: and I  
16 show this and talk about it. And for whatever  
17 reason, people have had such good success with  
18 the way they do PEG, based on the original  
19 description, that they don't want to do it or  
20 they don't do it.

21 Q In fact, Dr. Foucth first described this  
22 procedure in 1988?

23 A Yes. It is a great procedure.

24 Q Oh, so it has been in the medical -- it has been  
25 in the fountain of medical knowledge since that

time?

A Oh, yes.

Q And it is well recognized among endoscopists who perform this?

A No, it is not. That is the problem. I lecture about this procedure, because I use it routinely. And I don't believe that it is well recognized. And I keep writing about it. And I just wrote about it again. And it is not -- it is a wonderful addition, and it is not widely used.

Q And the specific purpose why Dr. Fouchth described and advocated this procedure was to eliminate even the possibility of bowel perforation during this procedure, correct?

A Well, I think that is a bold statement. But I think he wanted to minimize. If Dr. Fouchth stated that, I think he overstated it. But certainly that is the purpose of it, to reduce the possibility. Everything we do, the possibility is to reduce. I think if anybody has a zero complication rate from any procedure, I want to meet him.

Q Well, we talk about complication rates and we talk about -- there are different kinds of

1 complications that can occur from PEG placement,  
2 correct?

3 A Exactly. But I am even talking about bowel  
4 perforation.

5 Q Some complications are more common than others,  
6 correct?

7 A Yes.

8 Q Infection is one of the accepted risks?

9 A It is more common.

10 Q Of this procedure? -

11 A Right.

12 Q Doctor, you have reviewed the records in this  
13 case relating to this PEG procedure, correct?

14 A Right.

15 Q There is not an operative report which describes  
16 the procedure and all of the steps that the  
17 endoscopist and his assistant utilized during  
18 this procedure, correct?

A There is no formal operative report.

20 Q There is no indication as to whether  
21 transillumination was used as a technique?

22 A Correct. That is correct.

23 Q There is no indication as to whether or not a  
24 finger palpation technique was used, correct?

25 A That is correct.

1 Q And there is no indication that the safe track  
2 technique was used, correct?

3 A That is correct.

4 Q So based upon your review of the operative note,  
5 you cannot testify that there was not a  
6 deviation from the standard of care in the way  
7 in which this procedure was carried on on Delmar  
8 Darrell, is that fair?

9 A Within certain constraints, that is true. There  
10 are other things in here that give me some idea,  
11 not all ideas, some ideas. And those have to do  
12 with their description. This exhibit here  
13 shows. It is the endoscopy report. And there  
14 is an endoscopy report. I will tell you, even  
15 at the world famous Cleveland Clinic where we  
16 sit right now, we do endoscopy reports at this  
17 time on computer. And there is a move towards  
18 these reports. And it was what this one is  
19 right now. And this one does say that the scope  
20 was reinserted and the head of the catheter was  
21 visualized. I think this is the one that I am  
22 looking at. Let's see. Is this the one?

23 MS. SANDACZ: Here.

24 [Indicating.]

25 A Yes. Under complications. It says second EGT

1 scope in stomach in situ. No bleeding. So I  
2 can tell they did pass the scope the second  
3 time. One of the problems I find with some  
4 people who do PEGS, they don't put the scope in  
5 the second time as we described, they pull the  
6 tube down and quit. Sometimes people have  
7 actually pulled the tube out too far and  
8 perforated the stomach that way. And they don't  
9 know, because they haven't reinserted the scope  
10 to see if the scope is in the stomach. In this  
11 case at least, they did that, i can tell you  
12 chat.

13 Q And you were aware that neither of these  
14 physicians recalls this particular procedure  
15 that was performed on Mr. Darrell?

16 A I wasn't aware. I don't remember that fact. I  
17 don't recall it.

18 Q Well, assume for the sake of this deposition  
19 that each testified that they did not have any  
20 recollection.

21 A Of the particular --

22 Q Of this particular procedure.

23 A Okay

24 MS. SANDACZ: Particular  
25 patient, but go ahead.



1 Q And that is one of the reasons why operative  
2 reports are important, is to document for  
3 physicians who are not going to have a  
4 recollection of a procedure some weeks, months  
5 or years later, correct?

6 MS. SANDACZ: Objection. Go  
7 ahead.

8 A That is the idea, yes.

9 Q Doctor, the reports of bowel perforation in the  
10 literature, would you agree that they are  
11 anecdotal reports?

12 MS. SANDACZ: Objection. Go  
13 ahead.

14 A Not entirely. They are part of a series that  
15 have been reported. In other words, they may be  
16 anecdotal in some cases and in other cases  
17 people reported them as part of their review of  
18 their data.

Q Can reports of complications in the literature,  
20 particularly if they are very rare  
21 complications, be contributed to by variable  
22 skills related to performance of the procedure,  
23 technical variables as well as variable skills  
24 of the physicians involved?

25 MS. SANDACZ: Objection. Go

1 ahead.

2 A In some cases, that is true.

3 Q Bowel injury during PEG can be the result of the  
4 departure from accepted techniques and standards  
5 of care?

6 A Yes.

7 Q Have you ever seen that happen or ever -- and I  
8 don't mean first-hand knowledge from the  
9 standpoint of witnessing it, but having seen it  
10 from either medical records or descriptions  
11 provided to you by anyone?

12 A Not directly, that I can tell you about. I  
13 don't have enough knowledge of each individual  
14 case.

15 Q Can you state that any reported incident of  
16 bowel perforation described in the literature  
17 that occurred as a direct result of perforation  
18 of the colon during PEG placement was not the  
19 result of deviations from accepted technique?

20 A Not knowing every single case and not being  
21 there, I can't state that.

22 Q Right.

23 A I can only speak for the ones that I have  
24 performed with Dr. Gouder in the beginning, in  
25 which we thought we did the accepted technique,

and we were very careful and we still had a certain incidence of this complication. And I think that there are other people, who I consider reasonably good endoscopists, who have reported some problem, although I don't know the percentage of cases.

Q Would it be fair to say that you, since you began utilizing the safe track technique, have not had those complications, correct?

A To my knowledge, that is so.

3 And isn't it true that there is not a single report in the literature of anyone having this complication since the - contemporaneously with the use of the safe track technique?

MS. SANDACZ: Objection.

E! I don't know all the literature on that particular variable.

Q All I can ask you is what you are familiar with here as we sit here.

A There is very little literature on the PEG technique that even mentions the safe track technique, except what I have written about it and what Dr. Fouchth has written about it.

Q Do you agree with this statement, it is the responsibility of those performing PEG to keep

1           abreast of new developments and to adhere  
2           strictly to every detail of the technique?

1 Q If we are to corroborate our feeling or belief  
2 and that is what it is, because you have not  
3 experienced otherwise, we can't find  
4 corroboration of that in the medical literature  
5 relating to PEG procedures, correct?

6 A That is a negative result. You are saying to  
7 me, can we corroborate that. We can go through  
8 airless bowel and do a PEG. I can't corroborate  
9 that. That is a hypothetical.

10 Q Let me say this, Doctor --

11 A Okay.

12 Q You have been advocating the safe track  
13 technique, Dr. Fouchth has been a strong advocate  
14 of the safe track technique?

15 A He hasn't written any more about it,  
16 unfortunately.

17 Q Well, he has.

18 A Has he written more lately?

19 Q Yes.

20 A Good.

21 Q And I would ask you about that.

22 A Okay.

23 Q But assume that he has written and advocated the  
24 safe track technique.

25 A Okay.

1 Q If a recognized and respected endoscopist or  
2 surgeon is going to do a PEG procedure, uses a  
3 safe track technique and has a bowel perforation  
4 nevertheless, wouldn't you expect that that  
5 doctor would write an abstract or some type of  
6 response in the literature saying, look, this is  
7 being advocated, but even this isn't a guarantee  
8 that this complication is not going to occur?

9 MS. SANDACZ: Objection.

10 A Doctors rarely write up their complications  
11 unless they have a very unusual thing

12 Q Are you aware that Dr. Foucith has written  
13 recently in the literature, in the last three  
14 years, that this complication of bowel  
15 perforation can be completely avoided by use of  
16 the safe track technique?

17 A I wasn't aware that he had written the word  
18 completely, no, I am not familiar with that.

19 Q Are you aware that he has also advocated in the  
20 literature that the failure to complete the  
21 safety maneuver is an absolute contraindication  
22 to placement of a PEG?

23 A No, I wasn't aware that he had written that.

24 Q Do you agree with that?

25 A I would -- in my hands, that is true. But in

1           most of the world's hands, it is totally untrue.  
2           And most of the excellent endoscopists in the  
3           world, unfortunately -- and I brought this up  
4           before -- most of the excellent endoscopists in  
5           the world do not use that technique, although I  
6           think independently Greg Fouchth, who I give all  
7           the credit, and myself, are trying to make sure  
8           that that becomes a part of the PEG procedure.  
9           I think it should be.

10       Q           And you are aware that Dr. Brown in his  
11           deposition, when asked to describe what his  
12           general practice is on performing PEGS, did not  
13           describe performing a safe track technique?

14       A           That is right, right.

15       Q           Do you know Dr. Brown?

16       A           No.

17       Q           Do you know Dr. Vogten?

18       A           No.

19       Q           How did you come to be contacted in this case?

20       A           I got a letter. [Indicating.]

21       Q           Well, do you know how Ms. Sandacz became  
22           acquainted with you or knew of your availability  
23           to review a case like this?

24       A           I have no idea.

25       Q           Okay.

1 A I got a lot of cases about PEGS though that  
2 people called me about all the time, so--

3 Q Have you ever worked for Reminger & Reminger  
4 before?

5 A I am really trying to think. If I have, it has  
6 only been one time before, I think.

7 Q And I have to ask you this, because it leads to  
8 another question --

9 A Ask me, it's your dollar.

10 Q -- have you ever been sued before'?

11 MS. SANDACZ: Objection. Go  
12 ahead.

13 A Yes.

14 Q Here at the Cleveland Clinic?

15 A While I have been at the Cleveland Clinic?

16 Q Yes.

17 A No, not yet.

18 Q Are you aware that Reminger & Reminger is one of  
19 the law firms that frequently defends the  
20 Cleveland Clinic and its physicians here?

21 A I think I am. I think they use several law  
22 firms.

23 Q You are aware that Kaiser and the Cleveland  
24 Clinic have a business affiliation?

25 A Yes.



1 Q You are aware that the Ohio Permanente  
2 physicians affiliated with Kaiser are  
3 technically on staff here at the Cleveland  
4 Clinic?

5 A Yes.

6 Q Doctor, if someone like I contacted you a month  
7 ago or a month from now in the future and said,  
8 "Doctor, I have a potential plaintiff's case, it  
9 involves a PEG procedure, and it involves a PEG  
10 procedure put in by one of your colleagues  
11 either here at the Cleveland Clinic or at  
12 Kaiser," do you think you would have a conflict  
13 and *you* wouldn't be able to look at the case?

14 MS. SANDACZ: Objection as it  
15 relates to the Cleveland Clinic.

16 Q As an expert witness?

17 A If I didn't know the people here personally, I  
18 would look at the case.

19 Q You believe that you would be permitted to  
20 testify as an expert witness in a medical  
21 malpractice case against a colleague here at the  
22 Cleveland Clinic that you may not know?

23 A Let me phrase it this way, I wouldn't testify  
24 against a colleague at the Cleveland Clinic. A  
25 Kaiser Doctor that I did not know, and I am

1           being honest about it, I wouldn't want to  
2           testify against somebody that I knew and here at  
3           the place, but if it was a Kaiser Doctor and I  
4           didn't know him, I would testify against him, if  
5           I felt he was wrong. Yes, absolutely.

6       Q       You have never written in the medical literature  
7           that bowel perforation is an unpreventable  
8           complication of a PEG procedure, have you?

9       A       I have never written that any complication is  
10          unpreventable.

11      Q       But you have written that you can ensure the  
12          absence of hollow viscus between the abdominal  
13          wall and the stomach by using the safe track  
14          technique, haven't you?

15      A       When you say -- I don't think I have ever said  
16          you can prevent 100 percent. You can try to  
17          ensure. And I think if you look at what I have  
18          written, if you have anything there--

19      Q       I do.

20      A       I said if you try to ensure it by this. I never  
21          implied that it is a total prevention. There is  
22          no 100 percent prevention for any complication.

23      Q       Read the last sentence in that paragraph.

24               [Indicating.]

25      A       The safe track technique originally described by

1 Fouchth has provided an excellent way of ensuring  
2 that there is no hollow viscus between the  
3 stomach and the abdominal wall. And it is an  
a excellent way, as I said before. However, it is  
E not 100 percent successful.

6 Q Well, your use of the word ensure, is different  
7 than --

E A It is a medical --

9 Q Pardon me?

10 A Well, I don' think that the word ensure means  
11 that there is 100 percent prevention.

12 Q Okay.

13 A We ensure that there is no polio in this county  
14 by giving polio vaccine but there is still cases  
15 of polio in this country.

16 Q Do you believe if Dr. Brown and Vogten had used  
17 the safe track technique there would have been a  
18 greater likelihood of prevention in Delmar  
19 Darrell?

20 A Yes, I do. Yes, I do.

Q What do *you* look for in a post PEG patient with  
22 regard to a possible intraperitoneal leakage?

23 A If I am considering intraperitoneal leakage, I  
24 do the injection of contrast medium immediately.

25 Q What are the signs and symptoms that you would

1 look for in a patient with intraperitoneal  
2 leakage?

3 A When we see patients with intraperitoneal  
4 leakage and we see quite a few for various  
5 reasons, the first thing they did get is  
6 abdominal pain and signs of peritonitis, fever,  
7 et cetera.

8 Q And I take it in a PEG patient, those types of  
9 symptoms give immediate concern for some type of  
10 gastric or colonic leakage?

11 A Yes.

12 Q All right. I take it that is something a  
13 physician can be vigilant about, following a PEG  
14 placement?

15 A Yes.

16 MR. HAWAL: If I can have a  
17 moment, I might be done. But I may have missed  
18 a lot of questions.

19 [Whereupon, a brief recess was taken.]

20 MR. HAWAL: Thanks. I am  
21 done.

22 THE WITNESS: Okay, thank you  
23 very much. Nice meeting you.

24 MR. HAWAL: Likewise.

25 THE WITNESS: I don't need to

1 read it. I will waive it.

2 MS. SANDACZ: You can send it  
3 to me.

4 - - - - -

5  
6  
7  
8  
c

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25


1 THE STATE OF OHIO, ) SS: CERTIFICATE  
 2 COUNTY OF 'CUYAHOGA. )

3 I, Robert J. Wanous, a Notary Public within and  
 4 for the State of Ohio, duly commissioned and qualified,  
 5 do hereby certify that the within-named witness,  
 6 Jeffrey L. Ponsky, M.D., was first duly sworn to  
 7 testify the truth, the whole truth and nothing but the  
 8 truth in the cause aforesaid; that the testimony then  
 9 given by him was by me reduced to stenotypy in the  
 10 presence of said witness, afterwards transcribed on a  
 11 computer/printer, and that the foregoing is a true and  
 12 correct transcript of the testimony so given by him, as  
 13 aforesaid.

14 I do further certify that this deposition  
 15 was taken at the time and place in the foregoing  
 16 caption specified.

17 I do further certify that I am not a  
 18 relative, counsel or attorney of either party, or  
 19 otherwise interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand  
 21 and affixed my seal of office at Cleveland, Ohio, on  
 22 this 1ST day of November, 1999.

  
 23 Robert J. Wanous, Notary Public  
 24 within and for the State of Ohio  
 25 My Commission expires November 22, 2000.

