

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS

DOC 363

ANTHONY P. DIMARCO, SR.,)
et al.,)

Plaintiffs,)

vs.)

Case No. 93882

LEONARD H. BERNSTEIN, M.D.,)

Defendant.)

- - -

Deposition of ARTHUR PORTER, M.D. a
Witness herein, taken by the Plaintiffs as if upon
cross-examination before Marguerite A, Sandly,
RPR/CM and Notary Public within and for: the State
of Ohio, at the office of Joseph L. Coticchia Co.,
L.P.A., 1200 Engineers Building, Cleveland, Ohio,
on Monday, the 28th day of April, 1986, commencing
at 1:30 p.m., pursuant to notice and agreement of
counsel.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

Joseph L. Coticchia Co., L.P.A., by:
Joseph L. Coticchia, Esq.,

On behalf of the Plaintiffs.

Jacobson, Maynard, Tuschman & Kalur Co.,
L.P.A., by:
Dale L. Kwarciany, Esq.,

On behalf of the Defendant.

- - -

STIPULATIONS

It is stipulated by and between counsel
for the respective parties that this deposition may
be taken in stenotypy by Marguerite A. Sandly; that
her stenotype notes may be subsequently transcribed
in the absence of the witness; and that all
requirements of the Ohio Rules of Civil Procedure
with regard to notice of time and place of taking
this deposition are waived.

- - -

1 ARTHUR PORTER, M.D.,
2 a Witness herein, called by the Plaintiffs for the
3 purpose of cross-examination as provided by the
4 Ohio Rules of Civil Procedure, being by me first
5 duly sworn, **as** hereinafter certified, deposes **and**
6 says as follows:

7 CROSS-EXAMINATION

8 ~~BY MR. COTICCHIA:~~

9 Q. Would you please state your full name,

10 A. Arthur Porter.

11 Q. What *is* your address?

12 A. 11201 Shaker Boulevard, Suite No, 229,
13 Cleveland, 44104.

14 Q. What is your date of birth?

15 A. 9-30-48.

16 Q. Are you married?

17 A. **Yes.**

18 Q. How long have you been married?

19 A. Ten years.

20 Q. What is your occupation or profession?

21 A. A urologist.

22 Q. **Are** you Board certified?

23 A. **Yes.**

24 Q. When **did** you become Board certified?

25 A. Two years after I finished, '76, 1976.

1 Q. In regard to this case in which Anthony
2 DiMarco has made a claim for medical malpractice,
3 what documents have you reviewed? And by that I
4 mean medical records, written reports from other
5 doctors, anything in the pleadings.

6 A. In preparation for this?

7 Q. Right. And that includes any literature,
8 medical literature that you may have reviewed.

9 MR. KWARCIAANY: Are you talking
10 about in preparation for his deposition today or in
11 preparation for his March 27, 1985 report?

12 MR. COTICCHIA: No, No. In
13 regard to the deposition today,

14 MR. KWARCIAANY: Okay.

15 A. The records from Marymount, the report of
16 Dr. Proctor, of Schreiber, and of Katz. And also
17 in terms of the literature, I called the company
18 that makes Tobramycin and asked them to send any
19 articles specifically targeted towards ototoxicity
20 and aminoglycoside therapy, I also reviewed the
21 Campbell's Urology.

22 Q. Did you say --

23 MR. KWARCIAANY: Campbell's
24 Urology.

25 Q. Textbook of urology,

1 Q. Khat company did you call in regard to
2 Nebcin?

3 A. Eli Lilly Company.

4 Q. Were they the manufacturer of the drug
5 that was administered to Mr. DiMarco?

6 A. It was them or one of their subsidiaries.
7 I think Dista may distribute it. I am uncertain,
8 but they're the one that has the largest medical
9 library.

10 Q. Did you review the Physician's Desk
11 Reference of 1983?

12 A. No, I did not.

13 Q. Did you know that there was a warning
14 issued in regard to Nebcin in the Physician's Desk
15 Reference in 1983?

16 A. Warning in terms of what?

17 Q. In terms of ototoxicity.

18 A. Yes, I am aware of ototoxicity.

19 Q. All right. But you were not aware that
20 there was one specifically in regard to Nebein at
21 least in the 1983 Desk Reference?

22 A. I am certain, as I have read the package
23 insert from Nebcin and it's printed or there, and
24 that's the same thing. So I assume that it's also
25 in there.

1 Q. When did you read the package insert?

2 A. I mean many times, Whenever I use the --
3 not whenever I use the drug, but in the past I've
4 just read it,

5 Q. Well, was that something that you got
6 when you called them or is that something you knew
7 from experience?

8 A. I knew from experience.

9 a. What kind of information did you get from
10 Eli Lilly or any of their subsidiaries?

11 A. They sent a whole packet of articles from
12 various investigators on ototoxicity and
13 aminoglycosides as well as ototoxicity specifically
14 towards Tobramycin.

15 Q. All right. It's my understanding that
16 Tobramycin is an aminoglycoside, is that correct.?

17 A. Right. Correct.

18 Q. Before you prepared your letter, and my
19 copy is blurred, is that March 21st?

20 A. I have it here. March 27th.

21 MR. KWARCANY: 27th.

22 Q. March 27, 1985, Did you read the letter
23 prepared by Dr. Proctor?

24 A. Yes.

25 Q. Is there anything in the literature that

1 you received from the manufacturer of Nebcin
2 following your letter of March 27th?

3 A. No.

4 Q. That would --

5 A. All they -- all the literature I had was
6 before then, I have not **received** anything since I
7 wrote the letter.

8 Q. Oh, I'm sorry, All right. So there **axe**
9 some statements -- are there statements in **your**
10 letter which are based on the information you
11 received **from the manufacturer?**

12 A. Yes.

13 Q. Okay, Would you tell me which statements
14 they are?

15 A. I **am** not sure that they're specifically
16 marked in here. I'll have to go through this.

17 It was more that I used much of the, all
18 the information in general to compile the report.
19 I didn't take any direct statements, **just** general
20 information.

21 Q. I'm going to **request**, if you haven't
22 brought those with you, that you --

23 A. I didn't, This is all I brought.

24 Q. -- through Mr. Kwarcianny, that you
25 provide me **copies** of that.

1 A. Sure.

2 Q. All right,

3 MR. KWARCIAANY: They're not
4 going to help you.

5 MR. COTICCHIA: I have enough
6 trouble lust reading the warning.

7 THE WITNESS: I'll send you
8 the articles,

9 A. Oh, I ain **sure** this **came** out, at the
10 bottom of the **second** page of the letter, that **was**
11 one of the articles that I read, **but** as I mentioned
12 here, from the Journal of Infectious Disease by
13 Neuton and Bendish, as **far** as the hearing **loss was**
14 in question.

15 Q. Oh, yes. And who was the author you just
16 quoted?

17 A. It's Neuton and Bendish. It's at the
18 bottom of the page.

19 Q. I **see** it, That **was** in 1976, is that
20 correct?

21 A. Right.

22 Q. The surgery took place in September of
23 1983, didn't it?

24 a. Yes.

25 Q. Did you discuss today, and I don't want

1 to know the content, but did you discuss today some
2 of the questions and answers in regard to
3 Dr. Bernstein's deposition?

4 A. Yes.

5 Q. Did you discuss them with Dr. Bernstein?

6 A. No.

7 Q. Did you discuss them with Mr. Kwarciany?

8 A. Yes.

9 Q. Is your medical malpractice insurance
10 carrier PIE?

11 MR. KWARGIANY: Objection. You
12 may answer,

13 A. Yes.

14 Q. Have you ever had occasion to sit on a
15 review committee for PIE in regard to any medical
16 claims?

17 A. No.

18 MR. KWARGIANY: Objection. You
19 may answer.

20 Q. It's my understanding that you, prior to
21 this lawsuit, knew Dr. Bernstein?

22 A. Correct.

23 Q. Is that correct?

24 a. Yes.

25 Q. To your recollection, how long have you

1 known Dr. Bernstein?

2 A. Ever since I did my medical training in
3 the city and Dr. Bernstein attended conferences.

4 Q. Where did you have your medical training?

5 A. At Western Reserve.

6 Q. Was that your internship and residence?

7 A. Yes.

8 Q. When did you start your internship?

9 A. In 1974, and finished in 19, or finished
10 the residency in 1979. Oh, wait. I said '76 for
11 my Boards, I was wrong, it had to be '81; 1981. *Be*

12 I'm sorry. It was two years after I *Q*
13 finished my residency.

14 Q. All right, And, of **course**, both you and
15 Dr. Bernstein are urologists?

16 A. Right.

17 Q. You practice medicine in the same
18 specialty?

19 A. Right.

20 Q. Sa you've known each other for
21 approximately 12 years?

22 A. Right.

23 Q. Don't you think the fact that you and
24 Dr. Bernstein being professionally acquainted for
25 12 years **has some** influence on your objective

1 analysis of the medical records?

2 A. No. I mean, we're not -- I mean, he's
3 just in the same city. We don't -- I'm not friends
4 with him socially or anything and don't work with
5 him on **cases**, I mean --

6 Q. You **both** belong to the same medical
7 associations, don't you?

8 A. I'm **not** certain what **he** belongs to. The
9 American Urological Association, I would **assume**;
10 Cleveland Urological, I assume he would belong to;
11 and for the north central section of the country I
12 would also assume he belongs; but those are pretty
13 broad organizations.

14 Q. Don't you think that the fact that **both**
15 you and he are insured by **the** same liability
16 carrier would have some influence on your objective
17 analysis of the medical records in regard to this
18 claim?

19 MR. KWARCIAANY: Objection.

20 A. No. I mean, I didn't even know **that when**
21 I reviewed the case anyhow.

22 Q. You didn't know what?

23 A. That he was, what insurance carrier he
24 had.

25 Q. Who initially contacted you in regard to

1 this claim?

2 A. I don't remember.

3 Q. It was an attorney from one of the --

4 A. It was an attorney. But what happened,
5 it was sent by the attorney, I just got the phone
6 call and they asked if I would review the case,,

7 Q. What attorney called you?

8 A. I don't remember. It may have been
9 Hirshman. He was -- I don't know. I'm not certain,

10 Q. Don't you think the result of this case,
11 just as a result of any medical malpractice case,
12 would have some affect on your own malpractice
13 premiums?

14 MR. KWARCANY: Objection.

15 A. No.

16 Q. I mean -- I mean, that really has no
17 bearing in anything that I am saying.

18 Q. Had you found that there was a breach in
19 the medical standard of care in regard to
20 Dr. Bernstein's treatment of Mr. DiMarco, would you
21 have written that opinion?

22 MR. KWARCANY: Objection. If
23 you would have asked him?

24 MR. COTICCHIA: No.

25 Q. Had you found in your analysis of the

1 medical records --

2 A. Would I have?

3 Q. -- a breach of the medical standard of
4 care with regard to Dr. Bernstein's treatment of
5 Mr. DiMarco, would you have put that in your
6 written opinion?

7 A. Yes.

8 Q. Prior to preparing this report, did you
9 first call any attorney from the offices of
10 Jacobson, Maynard?

11 A. Prior to preparing the report?

12 Q. Right, before you wrote this letter to
13 Mr. Hirshman.

14 A, I don't -- I'd say no, but I don't -- I
15 mean, I don't remember calling anybody.

16 Q. You didn't have a confexence with him
17 before you prepared this letter ok with any
18 attorney?

19 A. The first thing I remember is just
20 writing the letter in response to the, to the
21 letter they haa sent or the communication they had
22 sent with the chart and the letter and, you know,
23 from Proctor and that stuff.

24 Q. What does the abbreviation BUN mean?

25 A. Blood urea nitrogen.

1 Q. And what is that a test for --

2 A. That's one of the tests for renal
3 function.

4 Q. What does renal function refer to?

5 A. It refers to the ability of the kidneys
6 or kidney to purify the blood.

7 Q. What were the results of those tests in
8 regard to Mr. DiMarco's admission at Marymount?

9 A. I don't -- if you have a copy of the
10 report, I am sure I could find it.

11 There is one from 9-1-1983 where it's 13.

12 Q. Can I see what you're referring to?

13 MR. KWAKCIANY: He is referring
14 to the admission test on 9-1-83.

15 Q. I have it here,

16 A. Right here, (indicating).

17 Q. Okay.

18 MR. KWARCIANY: Are you asking
19 him about subsequent BUNs and creatinines that were
20 taken?

21 A. This is the first one.

22 Q. That was the first one.

23 Dr. Porter, is there anything significant
24 in regard to, I guess we're referring to the
25 Marymount Hospital, Garfield Heights, hospital

1 record at the bottom where it says, DiMarco,
2 Anthony, lab number 39, I guess that's a good
3 identification?

4 MR. KWARCIAANY: Why don't you
5 give it by date:, too, September 1st of 1983.

6 MR. COTICCHIA: Right, All
7 right.

8 Q. Is there anything significant in these
9 findings?

10 A. No, The BUN, and even far more
11 importantly, the creatinine are both normal,

12 MR. KWARCIAANY; That was before
13 Tobramycin was administered.

14 A. The creatinine is here, right here.

15 Q. Khat is creatinine?

16 A. Creatinine, again, is one of the
17 by-products again in the blood, again used to help
18 evaluate renal function, but is considered a more
19 accurate assessment than is BUN by itself.

20 Q. All right. There were several other
21 tests done. I would imagine my chart and your
22 chart follow the same sequence. Does the next page
23 show September 1st blood bank record?

24 A. No. Wait.

25 Q. Right at the top.

1 A. On, yes, right at the top.

2 Q. The next one is hematology test?

3 A. Right.

4 Q. And the third one is a urinalysis?

5 A. Urinalysis, right.

6 Q. With regard to the first one, blood bank,
7 or Roman numeral one, what kind of a test is that?

8 A. It's just in case -- that's a blood type
9 and screening in case he needs blood during surgery,

10 Q. Anything unusual or abnormal in that
11 record?

12 A. No.

13 Q. In regard to the hematology, the next
14 test record --

15 A. Again, these are tests for blood; and
16 they're normal,

17 Q. And in regard to the urinalysis?

18 A. The urine shows, it is essentially normal
19 except for zero to one red cells or white cells in
20 the urine,

21 Q. Now, that was prior to surgery, is that
22 correct?

23 a. Right.

24 Q. Let's go on to the next page,

25 Again, this is a report from hematology,

1 the date I have is 9-1, what kind of a test **is** this?

2 A. Again, it's a test. of some of the red
3 cell parameters as well as platelets and white
4 count. Again, that's normal,

5 Q. Then we have underneath that A chemistry
6
7 to phosphatase, what is the purpose of that test?

8 A. The acid phosphatase test is one of the
9 blood tests that we use to look for carcinoma of
10 the prostate.

11 Q. What were the results of that test?

12 a. It was within the normal range of .42.

13 Q. Do you see carcinoma, is that what I
14 understand as a layman to be cancer?

15 A. Yes, right.

16 Q. And that came back normal?

17 A. (Indicating.)

18 MR. KWARCANY: You have to
19 answer verbally.

20 A. Right, yes,

21 Q. All right. Let's go to the next page.

22 This is **from** microbiology. What kind of a test is
23 this?

24 A. Again, it's -- wait, **is** this --

25 MR. KWARCANY: You're referring

1 to the --

2 Q. 9-2-83.

3 A. These are further blood studies; again
4 showing BUM, creatinine and electrolytes,

5 Q. And that test came back normal?

6 A. Normal.

7 Q. There is on the bottom, no growth at 24
8 hours, there's a note from the lab.

9 MR. KWARCIAANY: You're on the
10 bottom, I was looking at the top one. You're
11 looking at the bottom one?

12 Q. I'm sorry. My copies are out of sequence,

13 A. Okay. All right. This one you're
14 talking about is the microbiology test, correct, it
15 states no growth.

16 a* All right. Then we have -- what E will
17 do for the record, I will refer to the bottom of
18 the test form so at least we have a starting point
19 for the purpose of the test.

20 What -- again, referring to microbiology,
21 what kind of a test is that?

22 A. They take a sample of urine, put it in an
23 agar plate or some sort of culture medium and see
24 what kind of bacteria grow.

25 Q. And ~~after 34 hours this test indicates~~

1 that there was no presence of bacteria?

2 A. That there was no growth.

3 Q. Which means that there is no infection?

4 A. Which means on that plate that there **was**
5 no growth,

6 Q. All right, I want to go on then to the
7 next one on my list, which is captioned at the
8 bottom chemistry.

9 A. On the same sheet?

10 Q. On the **same** sheet,

11 A. Okay.

12 Q. It's dated September 2nd and it's got --

13 A. Wait. September 2nd?

14 Q. 9-2-83?

15 A. This one's 9-4, chemistry. That must be
16 this page. Now, which urinalysis? There is a
17 urinalysis on the page **you're now** talking about, on
18 the same page there is no growth; that does show
19 bacteria in the **urine** as well **as** a few white cells
20 and an occasional red cell.

21 Q. What is the date of that?

22 A. That's dated May -- 9-2, with urinalysis.

23 Q. Does that have **lab number 24** on it?

24 You see lab, (indicating)?

25 A. Lab number 26.

1 Q. All right. And there is a -- okay. It
2 says --

3 A* The urine --

4 Q. -- color and appearance, specific gravity?

5 A. This is now changed. I mean the color is
6 still yellow, the appearance now is cloudy, which
7 again may mean that there is some bacteria or
8 something that's different, because the last one
9 was clear.

10 Q. Could that also be due to blood?

11 A. Can be due to blood.

E2 Q. All right.

13 A. And there was some blood, although under
14 microscopic examination there was no change in the
15 amount of blood, but there were more bacteria there,
16 I mean, there were more white cells,

17 Q. More bacteria, is that WBC, RBC?

18 A. Right here, white cells. Now it's zero
19 to three, before it was zero to one. Here bacteria
20 shows a few bacteria in the urine.

21 Q. A few. All right.

22 Is that an indication of a dangerous
23 infection?

24 A. It may be. I mean, it's not -- no, just
25 to have bacteria in the urine is not a dangerous

1 infection, It Just means there is bacteria in the
2 urine.

3 Q. This essentially is a normal result?

4 A. No. You can't really call it normal if
5 there is bacteria and white cells in there,

6 Q. Okay. All right. My question is, and I
7 want your opinion based upon a reasonable degree of
8 medical certainty, is there, in and of itself, an
9 indication of **sepsis**, of infection? ✓

10 a. From this urinalysis?

11 Q. Yes.

12 A. No. ✓

13 Q. Now, in regard to the report -- I guess
14 what I'm referring to on the dates is in the upper
15 right-hand corner and that it will say date drawn,
16 which is usually the next day, there is a chemistry
17 report, date requested September 2nd, 1983, date
18 drawn September 9, '83?

19 A. September 9, or September 3?

20 MR. KWARCANY: It says
21 September 3rd.

22 Q. I beg your pardon, My copy doesn't look
23 good, You're right, it's September 3rd. All right.
24 And then it has X's in front of the box creatinine,
25 BUN, Chloride, CO2.

1 A. Right.

2 Q. Is there anything unusual or abnormal in
3 those results?

4 A. No. Your sodium is slightly low, but
5 that's not significant,

6 Q. That is essentially a ~~normal~~ result,
7 isn't it?

8 A. Right.

9 Q. I guess we're on different pages, Doctor,
10 but I am referring now to a chemistry test result,
11 again date requested September 3rd, date drawn, and
12 date recorded September 4th, it's the same test?

13 A. Lab number 23.

14 Q. Lab number 23, yes.

15 A. Right. Okay,

16 Q. Again, is this result essentially normal?

17 A. Yes.

18 Q. Now, we have a serology report. This is
19 dated September 1st, and the blood was drawn
20 September 1st?

21 A. Right.

22 Q. Anything abnormal here?

23 A. No.

24 Q. And the next one I have is from microbiology,
25 By the way, where it says serology -- let me back

1 up a little bit..

2 A. Serology.

3 Q. What did I say, seriology?

4 Serology, does that pertain to blood?

5 a. That's blood.

4 Q. All right. And then we go to microbiology.

7 This again is a urine test, isn't it?

8 A. I think this is the one we went over
9 already,

10 MR. KWARCANY: That's the first
11 urinalysis we went over.

12 A. The urine culture that shows no growth.

13 Q. All right. We went over that. Now I've
14 got -- my pages are out of sequence. I have a
15 September 2nd hematology, which I believe was on a
16 different page from yours,

17 A. September 2nd, lab number what, 10?

18 9. Yes.

19 A. Okay.

20 a. Did we talk about that?

21 A. No. We talked about the hematology one
22 from before.

23 Q. All right. This is lab number 10. This
24 is a blood test, isn't it?

25 A. Correct.

1 Q. What do they test for?

2 A. This is looking at your number of white
3 cells, your number of red cells and various
4 parameters for those particular cells as well as
5 your platelets.

6 a. What's the purpose of the test?

7 A. You want to know how much blood, the
8 volume of blood cells in the person and whether
9 there is -- you're also looking at the white cell
10 response as well as your platelet response.

11 Q. What are the results in regard to this
12 test?

13 A. It shows several things. First of all,
14 the white count is slightly up at 11,000. And your
15 hematocrit is now 36.6, which has dropped from your
16 prior hematocrit. The remainder are within, you
17 know, acceptable range.

18 This also, incidentally, shows a slight
19 increase in the bands, Band cells is a response of
20 the bone marrow to make more white cells, which is
21 a response to stress, again meaning sepsis, or some
22 other sort of stress. And the number of bands is
23 up.

24 Q. Does this test establish, within a ✓
25 reasonable degree of medical certainty, that

1 Mr. DiMarco had an infection in his

2 A. Not in and of itself. Again, you know,
3 you are not going to just rely on any one
4 particular test by and of itself to document sepsis.

5 Q. All right, Going on to the hematology
6 dated September 6th, and the date drawn is
7 September 6th. Again, I notice that the white
8 blood cell count is up?

9 A. Even further.

10 Q. All right, However, underneath where it
11 says differential, and I don't understand this, a
12 lot of the numbers are less?

13 A. Right. Those are percentages, this
14 differential. And it's a shift around, that's
15 what's happened. You see an increase in the
16 lymphocytes, and where there's an increase in the
17 lymphocytes, those are types of white cells, And,
18 as I say, it's a percentage, so they add them.

19 Q. What does it mean when the WBC has gone
20 down from 73 to 67?

21 A. Segs are one of the cells which fight
22 infection, Leukocytes are another one that fight
23 infection. It just means there's an increase in
24 the lymphocytes and a slight decrease in your seg
25 matter.

1 Q. Well, you pointed out in **the** other one
2 that the bands is 10, now the bands is 4; what does
3 that mean?

4 A. The band is an acute, is an acute
5 response to making of new blood cells, The band
6 cells are the immature forms that come out into the
7 periphery before they really begin to be mature
8 white cells. And that's what we're dealing with.

9 Q. Is there something here that ✓
10 Dr. **Bernstein** should have been alerted to in regard
11 to the decrease in these numbers?

12 A. No. A contradistinction, I think, ✓
13 probably is the increase. The further increase in
14 the white blood cell count would alert you to the
15 fact that you're probably dealing with some sort of
16 infectious **process**,

17 Q. Infectious **what**?

18 MR. KWARCIAANY: **Process.**

19 A. **Process.**

20 Q. **Process.**

21 Looking at the report from September 6th
22 with the increase in white blood cells to 15.7 --

23 A. **Yes.**

24 VI -- that is an indication, isn't it, that
25 there may be infection in the blood?

1 A. Or infection anywhere. Right,

2 Q. Anywhere, being carried by the blood?

3 A. It's just monitoring the blood,

4 Q. All right. But based on all the other
5 tests in conjunction with the urinalysis and the
6 other -- I don't know what you used as the term
7 chemical --

8 A. Xes, The chemical. Now~so far we have
9 a urinalysis which showed a few bacteria, The
10 urinalysis also showed an increase in the white
11 cell count, And now we have a rising white count
12 and we know we had a shift, we had an increased
13 bands from the chemistry, or hematology.

14 Q. Well, on the urine we know we went from
15 zero to three on a white blood count, but I think
16 your testimony **was** that that was still within
17 normal range?

18 A. No, I said it's not. You have no
19 bacteria on the initial one, you have some
20 bacterium, you have only zero to one white cell in
21 the beginning and it goes to zero to three. That's
22 not great numbers. You can get 15, 20 white cells,
23 But to see some bacteria means, you **know**, you start
24 to look out, start to be aware, start to prepare
25 yourself that you may have some problems.

1 Q. I see. I must have missed something.

2 Where was the indication of bacteria?

3 A. It said on the --

4 Q. It says few.

5 A, -- on the page before, few bacteria,
6 That's just a microscopic thing, taking one drop of
7 urine and seeing some bacterium. And that's also
8 several days earlier, and these bacterium in any
9 kind of medium can increase in numbers.

10 Q. All right. If I have a blood test and I
11 have a urine test, all right, and if my -- and I
12 feel good today, all right -- and I have a urine
13 test and it comes back, under bacteria it says few,
14 what does that tell you as a doctor?

15 A. Well, you can't judge just on laboratory
16 studies, you have to go along with the clinical
17 symptoms and a clinical physical examination,

18 Q. So it could mean anything, isn't that
19 correct?

20 A. It's not that it could mean anything.

21 Q. You could have a bad cold?

22 A. You wouldn't get bacterium in the urine
23 from a bad cold,

24 Q. I wouldn't?

25 a, No. Bacterium in the urine means that

1 the bacterium is there for some reason. A cold is
2 a virus, these are bacteria, that's different.

3 Q. Yes. I -- well, I am not going to get
4 into colds being a virus. I mean, there are other
5 types of colds, aren't there, you can get a cold
6 having a bacteria-type cold, can't you; chest colds,
7 chest infection?

8 A. Again, **you're** talking something different.

9 Q. All right,

10 A. If it's in your chest, it's in your chest,

11 Q. Yes.

12 A. If it's in your urine, it's; in the urine.

13 That's -- I mean that's --

14 Q. What I'm getting at, it's **not** unusual **far**
15 somebody like Mr. DiMarco following prostate
16 surgery, a prostatectomy, for the doctor to order
17 an antibiotic, right?

18 A. To order an antibiotic, no.

19 Q. That's a common medical standard, isn't
20 it?

21 A. Right.

22 Q. The issue here is not that, but the **use**
23 in addition to Kefzol of 25 or 26 injections of
24 Nebcin --

25 A. Right.

1 Q. -- during this man's admission?

2 A. Right.

3 Q. And the Nebcin wasn't really administered
4 until after surgery?

5 A. Correct.

6 Q. And it wasn't administered until after
7 the Kefzol was administered?

8 A. Right.

9 Q. Now, my question to you is, first of all,
10 number one, we have no clear-cut sign in the
11 urinalysis, do we, of sepsis or infection?

12 A. You are not -- you can't have a clear-cut
13 sign of sepsis. **Sepsis** can be from -- I mean, **yau**
14 have to put the, as I mentioned to you **just** before,
15 the whole clinical situation together. He has a
16 rising white cell count, he has an elevated bands,
17 he had bacteria in the urine which were not there
18 initially. On the initial admission to the
19 hospital **from 9-1** there were no bacteria.

20 Subsequently he did have bacteria, so these things
21 lead you to think about infection. Then an the
22 night before the Nebcin was started there'a a note
23 in the nurses' nates talking about chilling and
24 feeling cold. Again, that's one of the key
25 indicators, again, not by itself, but put together

1 in the whole clinical situation where you've got to
2 think about sepsis, blood stream infection, you
3 still have a foreign body and you have the other
4 surgical procedures.

5 Q. I don't think our expert has any
6 disagreement with that. As a matter of fact, the
7 note in regard to Mr. DiMarco complaining about
8 that he felt chilly also indicated that, I think,
9 his temperature was 98, or it was not, and in Dr.
10 Bernstein's own testimony, was not a spiked fever,
11 was it?

12 A. No. Usually with sepsis you feel cold
13 well before the fact, and shaking chills well
14 before the fact that you have the -- in terms of
15 the time sequence -- before you have the rise in
16 the temperature.

17 Q. Your fetter didn't give any mention of
18 that, did it?

19 A. No.

20 Q. As a matter of fact, that's something you
21 just learned today, based on Dr. Bernstein's
22 testimony, is it?

23 A. Yes.

24 Q. Isn't a fever a more reliable indication,
25 and I don't mean a low-grade fever, I mean a **spiked**

1 fever?

2 A. Right, A spiked fever is more reliable,

3 Q. and Mr. DiMarco did not have one, did he?

4 A. Did not have a spiked fever?

5 Q. Is that correct?

6 A. That's correct.

7 Q. Now, couldn't Dr. Bernstein, in light of
8 these indications that you've mentioned, have
9 increased the dosage of the Kefzol?

10 A. I'm sure he could have increased the
11 dosage, but the Kefzol was started in an
12 appropriate dosage and he **was** on Kefzol, and
13 generally when patients start to have signs or
14 symptoms of sepsis, you go to an additional drug,
15 an additional medication that can cover other gram-nega
16 bacteria, which is what we're concerned about here,

17 Q. So it's your testimony that the dosage of
18 Kefzol. **was** already in the recommended maximum?

19 A. Not recommended maximum, it **was within**
20 therapeutic dosages; and the dosages in the urine
21 are far higher than dosages elsewhere, it's
22 concentrated in the urine.

23 Q. How **was** the Kefzol administered?

24 A. **Kefzol was** intravenous,

25 Q. Couldn't he have increased the frequency

1 of the dosages?

2 A. Yes, you're right, you can change the
3 dosages. But whst I am saying, when you're
4 concerned about sepsis, you go to an additional
5 medication, sometimes even **three** other medications.

6 Q. All right, When did Dr. Bernstein put
7 Mr. DiMarco on Kefzol?

8 A. Right at surgery.

9 Q. All right. And how long, how many days
10 was he on Kefzol?

11 A. It was about four. You mean until he
12 started the Tobramycin?

13 Q. Right,

14 A. Four.

15 Q. How long after surgery did Dr. Bernstein
16 start the Nebcin?

17 A. Four days.

18 Q. And there is nothing in the record, is
19 there, where Dr. Bernstein either by application or
20 dosage increased the use of Kefzol; he simply
21 continued in the same regimen with Kefzol and in
22 addition ordered injections of Nebcin?

23 A. Right.

24 Q. Is it your testimony th'at under those
25 circumstances that's within the standard of medical

1 care?

2 A. Yes.

3 Q. In fact, there was no blood culture to
4 determine bacteria, was **there**?

5 A. No, there was not.,"

6 Q. And that test was available at Marymount
7 at the time of Mr. DiMarco's admission, wasn't it?

8 A. Right, ✓

9 Q. Isn't that something he should have done
10 before he decided to apply or administer Nebcin?

11 A. It's something that you could have done
12 concurrent with, I mean, he couldn't wait for the
13 results of the blood culture. And a single **blood**;
14 culture in and of itself is not significant unless
15 it's positive, But he may have infection in the
16 **blood** and have negative blood cultures, if it's,
17 especially if it's seeping from the abdomen at or
18 near a small focal point. You only get a positive
19 blood culture if it's seeping out from there,

20 Q. My point is, that could have been done
21 right there at the hospital, couldn't it?

22 A. Yes.

23 Q. And if a doctor has a patient in recovery ✓
24 or intensive care following prostatectomy surgery
25 **calls** the lab and **says**, I'm concerned about

1 infection --

2 A. No.

3 Q. -- can't you get it promptly instead of
4 having --

5 A. It's a biologic test, it's not --

6 Q. How long does it take --

7 A. It takes at least 24 hours.

8 Q. -- to get that?

9 A. Sometimes you can start to know there's a
10 reading 12 or 14 hours later.

11 Q. Is it your testimony that this risk **was** *l*
12 so great **that** Dr. Bernstein couldn't **wait** 24 hours
13 before --

14 A. Yes.

15 Q. -- administering the Nebcin? ✓

16 A. Yes. ✓

17 Q. What is the basis of that **opinion**?

18 A. If the patient had true sepsis, then he ✓
19 could die,

20 Q. I agree. Shouldn't the doctor, shouldn't
21 Dr. Bernstein have started the blood culture test
22 right along with the urinalysis and the **hematology**? ✓

23 a. You mean from the early one?

24 Q. Right.

25 A. No, The **blood** culture is a test you get

1 in response from certain clinical indications. And
2 you may get that, but still you have to proceed
3 with what's clinically indicated,

4 Q. In other words, it's not within the
5 standard of care for a doctor to do a blood culture
6 immediately before and immediately after surgery, a
7 prostatectomy?

8 A. No.

9 Q. When he's passing blood, and when you say^L
10 as these indications may be that there may be
11 sepsis --

12 A. I mean, as I say --

13 Q. that's your testimony, that's not ✓
14 within the standard of care to do that?

15 A. Right. I think to get a blood test is
16 appropriate after he started to suspect sepsis,

17 Q. When did you start to suspect **sepsis**? ^L

1.8 A. I mean, several days after surgery, At
19 that point it's routine or acceptable to get a
20 blood culture.

21 Q. As a matter of fact, the Kefzol was used ✓
22 for that reason, wasn't it?

23 MR. KWARCIA NY: Objection.

24 Q. The application of Kefzol? ^L

25 a. Was used to prevent infection.

1 Q. Right, ^
2 a. Right. ^
3 Q. That was the reason for it, wasn't it?
4 A. Right.
5 Q. It's a broad-spectrum antibiotic, isn't
6 it?
7 A. Right.
8 Q. The problem with Nebcin -- let me restate
9 that.
10 The risk with Nebein is ototoxicity,
11 isn't it?
12 A. As well as nephrotoxicity.
13 Q. Right. And in this case we know
14 Mr. DiMarco's labyrinths had been destroyed?
15 A. Wait. Right, you mean ahead --
16 Q. Today,
17 A. Today.
18 Q. Today, following the application of
19 Nebcin, isn't that correct?
20 A. I'm not sure they've been destroyed, but
21 they have been injured,
22 Q. Did you read Dr. Kats' report?
23 A. Yes, But if you're going to ask me a
24 question, I just would like to look at it again. I
25 read it a while back.

1 Q. You read Dr. Schreiber's report, too?

2 A. Right.

3 Q. And it **came** back positive that he had no
4 function in the labyrinths bilaterally; you
5 remember reading that, is that correct?

6 A. Right. I was thinking it was partially
7 damaged. I don't remember the report,

8 Q. In regard to what was previously marked
9 Plaintiff's Exhibit 3, which is Dr. Katz' letter of
10 October 2nd, 1985, I will quote you the second
11 paragraph. "Mr. DiMarco has the absence of
12 vestibular function bilaterally. This appears to
13 be the result of the Tobramycin received," Do you
14 agree or disagree with Dr. Katz' conclusion?

15 A. I'd -- I mean, I agree. You mean was it
16 presumably from the Tobramycin? ✓

17 Q. Yes. ✓

18 A. I agree, ✓

19 Q. Okay, Doesn't Dr. Bernstein, under these
20 circumstances, have an obligation to discuss with
21 Mr. DiMarco the risk of ototoxicity in the
22 application of Nebcin?

23 A. I am **not** -- no, I don't really think so.
24 The risk of ototoxicity is quite, is quite small
25 and I think it would be a greater risk if he would,

1 in the normal range, scare a patient out of using a
2 drug that could potentially help him with sepsis.
3 And if the patient then became septic, I think
4 those risks would be far greater than the risk of
5 ototoxicity.

6 Q. Isn't it a fact that based on all the
7 hematology and urinalysis tests and these lab
8 reports -- and we haven't gone through all of them,
9 but I assume you dia prior to today, did you not?

10 A. Yes.

E1 Q. Based on all these tests, chemistry,
12 hematology, urinalysis, blood, there is nothing to
13 indicate within a reasonable degree of medical
14 certainty the presence of bacteria, is there? /; Se tw 4
se 11

15 A. No. There were tests to indicate that.
16 As I say, the urinalysis did show it and the blood
17 studies showed changes consistent with some
18 infection in the body,

19 & a The urinalysis showed from zero to --

20 A. To one; to a few bacteria.

21

22

23

24

25 the white blood cell count?

1 A. Several of them did actually.

2 Q. nli right.. But some of the other counts
3 went down, didn't they?

4 A. You're talking about your different
5 counts, your differential counts, and those --

6 Q. All right. Let's continue with those,
7 Where did we stop here, September?

8 A. 7th.

9 Q. We have September 6th, we have **hematology**,
10 we talked about that. Now we have **September 7th**
11 **hematology**, An3 this comes back with MCT; what
12 does that mean?

13 A. That's the hematocrit, which **is** a
14 function of how many **blood cells** there are,

15 Q. All right. And it reads 29.0, what does
16 that mean?

17 A. It means the percentage of **red cells** into
18 the **blood** is 29 percent, which is low and is
19 **usually** meant to **be** an indication of that there is
20 a need for a transfusion.

21 Q. The need for transfusion, all right.

22 And that's because he was passing blood,
23 of course?

24 A. Right.

25 Q. All right. The next **page** in my **records**

1 shows **September** 3rd and **September** 4th. What does
2 yours show?

3 A. Wait. I have a September -- yes.

4 Q. September 5th, there is also a test, a
5 hematology test, where it says Monday on the side?

6 A. Yes.

7 Q. Okay, Is there anything abnormal in that
8 result.

9 MR. KWARCANY: Which one now
10 are we talking about?

11 A. Which one?

12 Q. In regard to September 3rd.

13 MR. KWARCANY: You're talking
14 about the September 3rd chemistry?

15 A. Lab number 27, right, Lab number 27 is ✓
16 normal, Lab number 10 -- there are **several** of
17 these numbers that have repeated themselves, I
18 don't know if it **makes** any difference.

19 Q. Yes.

20 A. Okay. Lab number 10 from 9-5 **shows**,
21 again, a minimal elevation of the white cell count
22 and a decrease in the hematocrit, Platelets are
23 normal, And your differential is okay.

24 a. Considering the patient has **just**
25 undergone prostate surgery, or a prostatectomy, is

1 there ~~anything~~ unusual in these tests?

2 A. On the 5th?

3 MR. KWARCIAANY: Which test are
4 you talking about?

5 A. This page that we went through,

6 Q. All three of these, the chemistry and the
7 two from the hematology?

8 A. No, other than the drop in the hematocrit,

9 Q. What is the hematocrit?

10 A. It's 36, and he came in at 42 I think it
11 was.

12 Q. What does hematocrit mean?

13 A. That's the percentage of red cells in the
14 blood.

15 Q. All right. Let's go on. The next one I
16 have is a blood bank.

17 A. Pes.

18 Q. Ana it says, patient antibody screen. By
19 the way, the date is very vague, it looks Pike
20 September 7th.

21 It says patient antibody screen negative?

22 A. Right.

23 Q. What does that mean?

24 A. Those are blood bank tests so that they
25 properly crossmatch the patient so he doesn't have

1 a transfusion reaction,

2 Q. A transfusion what?

3 a. Reaction. When he gets -- this is if he
4 has to get blood, They send it, a sample down,
5 they want to test this so they can give him the
6 appropriate blood.

7 Q. And that test came back negative?

8 A. Right,

9 Q. Patient antibody screen negative, that
10 means no infection in the blood?

11 MR. KWARCANY: Objection.

12 A. That's purely for screening bloods.;

13 Q. What is antibody screen?

14 A. They're just looking for -- you form
15 antibodies from prior transfusions, If you have a
16 minor transfusion reaction one time, your body
17 develops antibodies, because it's a foreign protein,
18 and when these become strong enough or different
19 enough, you have to eliminate or do certain
20 specific things to the blood before you can
21 transfuse it, That has nothing to do --

22 Q. I am confused, Did they take a sample of
23 Mr. DiMarco's blood?

24 A. Yes.

25 Q. Is this the chart based on the sample

1 they took?

2 A. Right. Yes.

3 Q. What do they do with that sample?

4 A. This has nothing do to with infection.

5 If you were tested or any other person is tested,
6 there is a certain number of tests that you have to
7 go through in order to **make** sure that the blood
8 that you're ta be transfused with is properly
9 matched, and they look for certain antibodies.

10 Q. I guess I understand that. I guess my
11 question is, why do they have this section in here
12 for patient antibody screen?

13 A. Because they're looking for reactions in
14 the blood from old transfusions for foreign protein,
15 a reaction to foreign protein.

16 It's similar to these things down here,
17 See where it **says** Coombs test, **down** right below
18 that where you're looking, those are all antibodies
19 that you're looking to test,

25 Q. Yes. And **those** are all marked down okay?

21 A. Okay. That's what they're looking for,
22 that sort of thing, But there is a whole **group** of
23 minor antibodies **as** well that you look for.

24 Q. And there is another one, tested **and**
25 found nonreactive for hepatitis antigen and

1 syphilis serology.

2 A. Right.

3 Q. All right. We have the next test which
4 is hematology, September 6th.

5 Now, when did you say that Dr. Bernstein
6 started administering Nebcin?

7 A. On the 6th.

8 Q. If you don't know, you don't know, but do
9 you know from the record whether this test was done
10 before or after?

11 A. It says here it came back, it was ordered
12 on the 6th and came back on the 7th. At the top of
13 the thing is the time.

14 Q. Yes.

15 A. So it couldn't have been there, this was
16 after the Nebcin was administered.

17 Q. It was,

18 A. It got back to the chart, I mean.

19 It was ordered the 6th, it was drawn on
20 the 7th and got back to the chart, I presume, some
21 time on the 7th.

22 Q. All right.

23 A. Oh, here it says it was drawn at 6:50 and
24 reported at 10:10 on the 7th. That's after, that's
25 24 hours after the Nebcin was done. And

1 significantly here you see, as we were talking
2 before, the decrease back down in your white cell
3 count.

4 Q. All right. Now, isn't it a fact that the
5 proper dosage or administration of Nebcin really
6 should not have gone on for a couple of days?

7 A. No.

8 Q. In light of the fact that now, as you've
9 pointed out, the white blood cell count had gone
10 down to almost normal range?

11 A. No.

12 Q. To one point --

13 A. No. The white cell count will come down
14 or may come down before the infection is really
15 cleared up. If they were having an abscess
16 transmitted or some infection that got into the
17 prostate tissue from the rectum, especially in a
18 small area, it was receding, you have now cleaned
19 the blood, the white count is coming down to normal,
20 but the infection is certainly not clear and you've
21 got to use a therapeutic dosage, I mean a
22 therapeutic length of time to get rid of it.

23 Q. Now, you mentioned bacteria from the
24 rectum, There was a biopsy, wasn't there?

25 A. Yes.

1 Q. That was -- where was the biopsy done,
2 what part of the anatomy?

3 A. Transrectal biopsy.

4 Q. It's common, isn't there, to find flora
5 in that area in any person?

6 A. Yes.

7 Q. I have it today, don't I?

8 A. Yes.

9 Q. We have it in our mouths, too, don't we?

10 A. Different flora, presumably.

11 Q. And we have it in our intestines, too?

12 A. Right. Correct.

13 Q. Are you testifying that this could have
14 caused infection?

15 A. The flora in the rectum?

16 Q. Yes.

17 A. Yes.

18 Q. Just with a needle biopsy?

19 A. Yes. It's a known risk of prostate
20 biopsies that there is an increase risk of sepsis
21 from that.

22 Q. My question is, is it your opinion within
23 a reasonable medical certainty that it was
24 necessary in light of the dropping in these ranges,
25 in the blood tests, and in light of the fact that

1 there was no serum culture --

2 MR. KWARCIAANY: what, Counsel?

3 MR. COTICCHIA: Blood culture.

4 Q. -- blood culture, and in light of the
5 fact that there was no blood test to determine the
6 presence and the so-called peaks and troughs of the
7 Tobramycin in the blood, was it necessary for this
8 patient to undergo 25 injections of Tobramycin?

9 A, First of all, there is something -- I
10 think the blood culture could be used for two
11 reasons. One is to question whether you have an
12 infection. Number two is to find the appropriate
13 antibiotic to treat it. I think in this case, if
14 you were using a blood culture, it would not be to
15 say, do I have an infection in the blood stream,
16 because I think you can assume that from this
17 medical history. You would be using a blood
18 culture to say, what is the appropriate medication
19 to treat that infection.

20 With the lowering of the white count from
21 96 to 97 (sic) and the general improvement in the
22 clinical situation, I think that the practitioner's
23 faced with saying, he's getting better at this
24 point, I've done the right thing for him.

25 Q. It seems to me -- what was the date of

the discharge here?

MR. KWARCIAANY: 15th.

Q. (BY MR. COTICCHIA) so we've got approximately, starting on the 6th, we have got eight, almost nine days with approximately three injections per day of Nebcin?

A. Right.

Q. Is that correct?

A. Correct.

Q. Commensurate with the use of Kefzol, correct?

A. Yes.

Q. Don't you think it would have been safer after three days to take, in light of the risk the patient runs of ototoxicity, after three days of the Nebcin, wouldn't it have been safer just to stop or **suspend** that therapy?

A. No. No. When you start therapy like that for presumed sepsis, **especially**, as I **say**, in light of the fact that he's had a transrectal biopsy, he has a foreign body in terms, forms of a catheter in his bladder, he has blood clots, and you know that he had bacterium in the urine early on, you **got** to use it for a therapeutic length, and that's seven to ten days of the Nebcin.

1 You have not gotten to any BUN and
2 creatinine, and renal function, and this was done
3 and it was normal the entire time. If the renal
4 function started to change, then you'd be
5 appropriate in, not so much stopping it, but
6 leaning out your dosage, adjusting your time frame.

7 Q. However, in light of the risk,
8 Dr. Bernstein should also have done, should he not,
9 a blood test to determine the levels of Tobramycin?

10 MR. KWARCIAANY: We're talking
11 peak and trough levels?

12 A. You're talking peak and troughs?

13 Q. Right.

14 A. Yes. That's not useful in a clinical
15 situation, It's not used, It's more useful in
16 special situations, if you've got to use
17 aminoglycosides with severely compromised renal
18 function, if you got an aminoglycoside in a patient
19 with a severe problem, if he's very old, if a
20 patient **is** very obese, you **know**, these things,
21 where you want to be certain of your level, you may
22 use a peak and trough level **one** or two times. But
23 in a routine patient with normal renal function,
24 **peak** and trough levels are not used in a clinical
25 situation.

1 Q. All right. Do you agree with
2 Dr. Proctor's statement that aminoglycoside
3 antibiotics have a very low therapeutic index:" ✓

4 A. Yes. ✓

5 Q. They cause toxicity at serum levels only ✓
6 slightly above the bactericidal levels?

7 A. Correct. ✓

8 Q. Do you agree with Dr. Proctor where he
9 states that there was no evidence that Mr. DiMarco
10 had any infectious process which required the use
11 of an aminoglycoside? ✓

12 A. No, I don't. ✓ I don't think he carefully
13 looked at the records or missed these clinical
14 parameters. I think that's important, You know,
15 Dr. Proctor is not a clinician and is not faced ✓
16 with these decisions. I mean, it's very different
17 when you're sitting in a laboratory to try to
18 determine theoretically how to use a drug or
19 whether you're faced in a clinical situation where
20 you don't use it a patient can die or be severely
21 ill as opposed to using it.

22 Q. Is it your testimony that Mr. DiMarco was
23 dying?

24 A. No, But Mr. DiMarco could well have died
25 had appropriate medication not have been,

1 appropriate treatment not be instituted at that
2 time.

3 Q. Our bodies manufacture certain agents to
4 fight bacteria, don't they?

5 A. They do,

6 Q. If I had zero to three or few bacteria in ,
7 my urine today and everything else in me is normal,
8 what would you advise?

9 A. Again --

10 Q. Are you going to administer an
11 aminoglycoside?

12 MR. KWARCIAANY: Objection.

13 A. That's not what the clinical picture
14 presented in Mr. DiMarco's case on September 6th of
15 1983. And you're distorting it, Mr. Coticchia,

16 This is -- remember, this is a man who is
19 in his mid 40s, who had a transrectal biopsy, who
18 had bacterium introduced, this is an acute change
19 from none to a few, this is a man who had blood
20 ciats in his bladder.

21 Q. None to few is not acute, is it?

22 A. Acute change. Acute change.

23 Q. That's what I am saying, it's not an
24 acute change?

25 A. It is an acute change, he has a rising

1 white count, he has a foreign body in his urine, in
2 his bladder.

3 He's not a, quote, healthy man, no. If
4 he came in, or if somebody just has a few bacterium
5 in his urine, you don't use an aminoglycoside, hut
6 you do use other medications at that point.

7 Q. The second page, second last paragraph of
8 your letter states that, going down midway, right
9 on the margin, there are appropriate indicators **as**
10 to when the dosage should be cut back. **However,**
11 this patient did not have any of these predisposing
12 factors. These include a long duration of clinical
13 therapy. Now, what do you mean **by** a long duration
14 of clinical therapy?

15 A. It says, if that sentence continues,
16 meaning more than ten days diuretic use.

17 Q. Wait a minute. More than ten days of
18 what?

19 A. Of an aminoglycoside. **That** is considered
20 a long duration of therapy. **We** had it less than
21 that. And that's where **you're** talking therapeutic
22 index again.

23 Q. That's my **question**. We have going along
24 in **this** system intravenously -- and I assume that's
25 24 hours a day?

1 A. Right.

2 Us -- Kefzol?

3 A. Right.

4 Q. Correct?

5 A. Right.

6 Q. Therefore, why is it necessary to give
7 Nebcin three times a day when normally this would
8 be given without the necessity of Kefzol; why both,
9 isn't that excessive?

10 A. No, It's not excessive, and that's -- I
11 mean no.

12 Q. It's not excessive even though we got a
13 minimum, we go from zero to a few or three bacteria
14 in the urine. We don't have a culture in regard to
15 any growth ok bacteria, And we have after a day or
16 two, as you have testified, a significant drop in
17 the white blood cell count, Yet you think, it's
18 your testimony that it's necessary to continue
19 three injections a day for eight or nine days of
20 Nebcin?

21 A. Right. As a physician looking at this
22 and interpreting this data, again, you're saying,
23 here's a patient getting sicker, you use a
24 different therapy, you add to your medication, he's
25 not getting better, he's improving, and that's what

1 you got to go with, If you stopped and then he gat
2 sick again, you're in a worse situation.

3 Q. If he got sick again, yes, but **the** only
4 way **you'd** know that is to stop and check the blood
5 and do the tests again, isn't that correct?
6 Wouldn't that have been safer than running the risk
7 of destroying the labyrinths? L

8 A. No. **As** I mentioned to you, the ✓
9 labyrinthine changes is extremely unfortunate, **but**
10 it's a very rare complication. And the risk of
11 infection is quite, quite high. And you've got to
12 deal with that in a practical manner.

13 Q. Now, you didn't mention the labyrinthine
14 damage, you mentioned hearing loss at the bottom of
15 page two, the last paragraph, isn't that correct?

16 A. Wait. Right. Yes.

17 Q. The first sentence, last paragraph, page
18 two.

19 A. Right.

20 Q. At the time you wrote this letter, was it
21 your impression that he suffered the hearing loss
22 as a result of the Nebcin?

23 A. The hearing **loss**?

24 Q. Yes.

25 A. No. **He** had hearing **loss** prior to, from a

1 past tinnitus media or so.

2 Q. Your letter says, as far as the hearing
3 loss, this is certainly an unfortunate aspect,
4 however, Tobramycin has the least ototoxicity of
5 all the aminoglycosides?

6 A. That should have been labyrinthine damage.

7 Q. So you were under the impression that he
8 lost his hearing?

9 A. No. No, I knew that. It was just when
10 I proofread the letter.

11 Q. It was an oversight?

12 A. It was an oversight on my part. It
13 should have been labyrinthine damage.

14 Q. That was something you missed in your
15 proofreading?

16 A. Yes.

17 Q. Is the inability to obtain an erection a
18 risk of prostatectomy surgery?

19 A. It is a risk. It's noted in every, in
20 the preoperative teachings of all transurethral
21 resections, we don't know the cause of why, we
22 just know approximately 10 percent of men claim to
23 have impotence after surgery.

24 Q. Isn't this a risk that should be
25 discussed with the patient?

1 A. I mean yes, ✓

2 Q. Particularly in light of the fact that
3 Dr. Bernstein said that at least they were
4 satisfied prior to surgery that the indication was
5 that Mr. DiMarco did not have cancer?

6 A. Wait a minute.

7 MR. KWARCIAANY: Objection. Say
8 that again.

9 (Notary read back as requested.)

10 MR. KWARCIAANY: I will object to
11 that, because I don't ever remember that statement
12 being made during Dr. Bernstein's deposition.

13 Q. (BY MR. COTICCHIA) Assume that it was,
14 assume that the initial pre-admission physical and
15 the test at least indicated that Mr. DiMarco did
16 not have cancer.

17 A. Okay.

18 Q. All right.

19 A. The surgery for prostate cancer is
20 virtually 100 percent causing of impotence. The
21 surgery for benign prostate is approximately 10
22 percent.

23 Q. And this is a risk?

24 a. This is -- benign gland. Presumably, I
25 mean, he was concerned, he took the prostate biopsy

1 assuming that it was, that he went ahead and did a
2 transurethral resection. The risk of that is one
3 in ten.

4 Q. And that's something that should be
5 discussed with a patient by the doctor, isn't it?

6 A. I would think so.

7 Q. But we don't know, or do you know whether
8 his inability to obtain an erection is due to the
9 normal risk following prostatectomy and/or due to
10 the destruction of the labyrinths which creates an
11 inability to maintain balance?

12 A. No, it has nothing to do with the --
13 first of all, I don't know that he is impotent.

14 Q. One of his complaints is that.

15 A. It has nothing to do with the
16 aminoglycoside at all.

17 Q. The loss of balance and the inability to
18 maintain steady disposition does not affect a
19 person sexually or an ability to get an erection?

20 A. I mean no.

21 Q. That's your opinion as --

22 A. That's my opinion. That labyrinthine
23 damage would do nothing to the, to the ability to
24 have an erection.

25 Q. I am speaking under normal circumstances,

1 when you're just not simply standing still and
2 there is no sudden movement?

3 A. Right.

4 Q. He's testified that in the dark he can't
5 keep his balance.

6 A. You are right.

7 Q. He's testified that: when he walks down a
8 hall he leans or lists to the left or to the right,

9 A. Yes. Right.

10 Q. He testified that when he drives an
11 automobile and when ~~he~~ hits a bump **becomes** dizzy.

12 A. Yes.

13 Q. Now, is it your testimony that with these
14 **problems** of balance and movement or sudden stops or
15 any sudden physical motion or stopping of motion
16 affecting his dizziness has no affect on his
17 ability to get an erection?

18 A. Right, What I'm saying to you is that
19 the erectile mechanism is not related in any way to
20 the labyrinthine, in no way.

21 Q. You're speaking mechanically or
22 physically?

23 A. Right. You're **also** saying, while he is
24 driving a car he is not trying to have an erection,
25 no.

Q. That's not what I am getting at.

Seriously, when a human male in his 50s without a labyrinth wants to make love to his wife, he's just not going to stand still, there is physical contact, there is physical motion that affects his balance, his dizziness; isn't that going to interfere with his ability to make love?

A. No. I got to tell you that the erectile mechanism can be tested and has nothing to do with any labyrinths. The erectile mechanism, the nervous conditions are tested while you're sleeping, okay, is connected to brain waves, I mean it's just a totally different test. It has nothing to do with the ears.

Q. I understand. You're talking about pure physiological tests.

MR. KWARCIA MY: You're talking about psychological affects, because he can't maintain his balance while he is making love and, therefore, he cannot get an erection?

Q. (BY MR. COTICCMIA) I don't know, When a man has his labyrinths destroyed, it physically affects his ability to maintain physical stability, isn't that correct?

A. Correct.

1 Q. Sudden motions, either **stopping** or
2 starting cause him to become dizzy, isn't that
3 normal?

4 A. Wait, **You** mean isn't that with him?

5 Q. With him, that's a normal affect of **loss**
6 of the labyrinths?

7 A. Right.

8 Q. Isn't that going to affect his everyday
9 life including his ability to make love?

10 A. Well, again, it shouldn't, **but**, you know,
11 I don't know his particular situation. There **are**
12 no tests that can be done that -- there is nothing
13 that was done that would lead me to say that he
14 really is organically impotent. Now, if you are
15 talking psychological, there are tests for that,

16 Q. I am **not** talking organic or psychologic,
17 I am saying that we know normally what happens to a
18 person when he loses **his** labyrinths, don't we?

19 A. Right.

20 Q. What happens to a person like Mr. DiMarco,
21 he doesn't have his labyrinths any more, aside from
22 what caused it, what happens to a human being when
23 he loses his labyrinths, what affect **does** that have
24 on him?

25 A. I mean, he's more dizzy, **just** his balance

1 is not as good,

2 Q. Correct. When he walks down a hallway,
3 do you accept his testimony that he finds himself
4 leaning or staggering to one said or another?

5 A. I would have to assume it's true, yes.

6 Q. Now, he has no balance when it's night
7 and the room is dark without a light on; do you
8 accept that as true?

9 A. I do.

10 Q. Don't you think that the **loss** of balance
11 is going to affect almost every aspect of his life
12 at less when he's moving?

13 A. Again, if he's lying in, if he is in bed
14 and he's lying down, I don't know where he's trying
15 to have an erection, if he is lying down and he's
16 in bed, he should be able to have an erection. And
17 if he then goes moving around and so forth, he may
18 get somewhat dizzy, but he should not lose that
19 ability to maintain that erection.

20 Q. Don't you think it interferes with his
21 ability to make love?

22 a. Again, I say it shouldn't. If he's
23 trying to do it in a different way, I mean he is
24 not going to dance and **make** love, or do something
25 like that, **but if** he is in a **bed**, if he is in a

stable situation where he won't get dizzy, it's the dizziness that's bothering him and it's not the loss of erection,

Q. So clinically speaking -- or let's put it theoretically, if we have a model, as far as a theoretical model, if the person without labyrinths remains immobile, a male, he should be able to get an erection?

A. Assuming no other problems, right.

Q. Yes. All right. But that's not a normal way a man would make love to his wife, to remain completely immobile?

A. Again, even if he moves --

Q. Yes.

A. You can move. He'll get dizzy while he's moving, but he is not going to lose his erection,

Q. Well., he claims an inability. I don't know what, I don't know what causes it.

A. I am telling you that an arninoglycoside has nothing to do with the labyrinths. He may be impotent. There is the possibility that with a prostatectomy, they do become impotent, He may have become impotent. Again, I am not saying to you that he's not impotent, I am just saying that it's not related to this.

Q. Okay. But it certainly affects --

A. I mean, does he ever wake up in the morning with erections?

Q. I don't know, I haven't asked him.

A. Those are important things to know whether or not he really does have erectile dysfunction, and it can be, rather in a straight forward manner, tested.

Q. All right. Now, do you agree that the loss of the labyrinths affects his normal daily activities?

A. YES.

Q. That's a permanent condition, isn't it?

A. Usually.

Q. I'm talking about Mr. DiMarco.

A. That's what I mean, usually it is.

8. What evidence do you have in regard to your Letter, you mention flora following transrectal biopsy; what evidence do you have that flora was introduced?

A. I mean, as you mentioned before, we all carry bacteria. We know we carry gram-negative bacterium within **the** homeland at all times **unless** it's specifically removed by certain preps and that type of thing. **And** you have to assume that when

you go through the rectal mucosa, through the rectum, through a uveal plane, and then into the prostate, that there is a needle tract along which the bacterium can **enter** and that's where you worry about it, setting up that abscess in that medial tract because there is no way for it to drain,

Q. Okay. That's a possibility. My question is, what evidence do you have that this caused the bacteria or the infection? It's just something --

A. Right. Wait. What evidence do I have that the --

Q. Of what you're talking about?

A. That the transrectal biopsy introduced the infection into the urine?

Q. Yes. Is that what you mean?

A. As I said, I mean, there's no direct evidence, you just have to -- we just know the facts from past experience that this occurs after transrectal biopsy.

Q. It's a possibility, isn't it?

A. Right.

Q. Right. But you don't know based on a reasonable probability that this was, in fact, what happened?

a. I would say with the same probability

that the labyrinthine damage was due to the medication. I mean, you don't have a 100 percent thing there either. I think it's probable that the rectal biopsy introduced the infection. There is no other place where the infection really could have been introduced.

Q. I am quoting from the warning in the Physician's Desk Reference, 1983, under Nebcin, page 901. The risk of aminoglycoside-induced hearing loss increases with the degree of exposure to either high peak or high trough serum concentrations. Patients who develop cochlear damage may not have symptoms during therapy to warn them of eighth-nerve toxicity, and partial or total irreversible bilateral deafness may continue to develop after the drug has been discontinued.

Now, Dr. Porter, in regard to the reference to peak and trough serum concentrations, again, we don't know that in Mr. DiMarco's case, because Dr. Bernstein didn't order any? ✓

A* Right. -

MR. COTICCHIA: Okay, I don't. have any more questions, Thank you for your time,

MR. KWARCIAANY: For the record, the policy of our office is for the Doctor not to

waive signature. So if you're going to have this
typed up --

MA. COTICCHIA: I am,

MR. KWARCIAANY: -- then please
submit a transcript to the Doctor for his reading
so that he can make the necessary corrections,

- - -

(Deposition concluded at 3:00 p.m.)

- - -

I have read the foregoing transcript from page
1 to page 67 and note the following corrections:

<u>PAGE:</u>	<u>LINE:</u>	<u>CORRECTION:</u>	<u>REASON:</u>
--------------	--------------	--------------------	----------------

ARTHUR PORTER, M.D.,
Subscribed and sworn to before me this
day of _____, 1986.


Notary Public

My Commission Expires:

THE STATE OF OHIO,)
) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

I, Marguerite A. Sandly, RPR/CM and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify 'chat ARTHUR PORTER, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above set forth was reduced to writing by me by means of Stenotype and was subsequently transcribed into typewriting by means of computer-aided transcription under my direction; that said deposition was taken at the time and place aforesaid by agreement of counsel; ana that I am not a relative or attorney of either party or otherwise interested in the event of this action,

IN WITNESS WHEREOF, I hereunto set my hand and seal of office at Cleveland, Ohio, this 6th day of May, 1986.



Marguerite A. Sandly, RPR/CM and Notary
Public within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 44113

My Commission Expires: October 30, 1989.