

ON BEHALF OF THE PLAINTIFFS:

Charles Kampinski, Esq.,
 Christopher M. Mellino, Esq.,
 Law Offices of Charles Kampinski
 1530 Standard Building
 Cleveland, Ohio 44115.

Doc. 365

ON BEHALF OF THE DEFENDANTS:

IC. Richard Aughenbaugh, Esq.,
 Roetzel & Andress
 75 East Market Street
 Akron, Ohio 44308.
 and
 Phillip H. Shafer, Esq.,
 Gongwer & Shafer
 10 East Main Street
 Ashland, Ohio 44805.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I_N_D_E_X

WITNESS:

STANLEY POST, M.D.

Page

Cross-examination by Mr. Kampinski

4

- - - - -

DR. POST DEPOSITION EXHIBITS

MARKED

A - Handwritten summary of Dr. Edelberg's
deposition by Stanley Post, M.D.

4

B - Handwritten notes of Dr. Post, page 1

4

C - Handwritten notes of Dr. Post, page 2

4

D - Handwritten notes of Dr. Post, page 3

4

E - Handwritten notes of Dr. Post, page 4

4

F - Handwritten notes of Dr. Post, page 5

4

G - Handwritten notes of Dr. Post, page 6

4

H - Bylaws of the staff of Samaritan Hospital
adopted 12-16-77

18

- - - - -

INDEX OF OBJECTIONS:

- - - - -

(NO OBJECTIONS.)

- - . " . - -

1 STANLEY-POST, M.D.

2 of lawful age, a witness herein, called by the
3 plaintiffs for the purpose of cross-examination
4 pursuant to the Ohio Rules of Civil Procedure, being
5 first duly sworn, as hereinafter certified, was
6 examined and testified as follows:

7 - - - - -

8 **CROSS-EXAMINATION**

9 BY MR. KAMPINSKI:

10 Q. Would you state your full name,, please?

11 A. Stanley Post.

12 Q. Doctor, you've got a stack a materials next to
13 you. I assume that's what you have reviewed for
14 purposes of your testimony?

15 A. Yes.

16 Q. Could I see what you've got there, please?

17 A. Some of these are just my notes. I don't know
18 if you want that, too.

19 Q. Sure do.

20 MR. KAMPINSKI: Why don't you mark
21 these.

22 - - - - -

23 (Dr. Post Deposition Exhibits A through G
24 marked for identification.)

25 - - - - -

1 Q. Doctor, for the record, would you please
2 indicate what it is you've reviewed in this case prior
3 to our taking your deposition today?

4 A. I reviewed the nurses' depositions, Dr. Slagle's
5 deposition, Dr. Edelberg's deposition, and the
6 hospital records pertaining to the patient involved.

7 Q. Anything else?

8 A. Not that I can recall.

9 Q. Were you given any verbal accounts of any other
10 depositions or any records?

11 A. NO.

12 Q. I'm going to hand you what's been marked
13 Exhibits A through G, and if you would please just
14 identify what those are, sir.

15 A. This is a summary I have written up about my
16 impressions of Dr. Edelberg's deposition.

17 Q. What is this, what exhibit are you referring to,
18 Doctor?

19 A. This is Exhibit A.

20 Q. Okay. Go ahead.

21 A. Okay. And these are -- this is -- Exhibit B
22 through G represent notes that I took as I read over
23 the depositions of various nurses or Dr. Slagle or the
24 Labor record.

25 Q. When did you make those notes?

1 A. Sometime between the time I received this and
2 coming here today. I don't remember the exact date.

3 Q. Well, did you do that all at the same time; in
4 other words, did you write down your impressions about:
5 Dr. Edelberg at the same time that you wrote these
6 other notes?

7 A. No. No. These notes were done as a
8 result of having read over the other depositions,
9 Dr. Edelberg's deposition wasn't until I think later.
10 Yeah.

11 Q. Doctor, you were the head of the medical staff
12 at Booth prior to its closure; were you not?

13 A. Yes.

14 Q. For some period of time?

15 A. Yes.

16 Q. As the head of the medical staff, did you get
17 involved in the promulgation of rules and regulations
18 and procedures that were followed by hospital
19 personnel?

20 A. Yes. To some extent, yes.

21 Q. And the purpose of having those is what?

22 a. To give guidelines to the procedures that were
23 to be performed in the hospital.

24 Q. I assume you'd have some involvement in making
25 sure those guidelines were appropriate and adequate

1 for your hospital and that they should be followed by
2 the hospital personnel.

3 A. We had a whole committee that would review our
4 guidelines from time to time.

5 Q. To make sure they were accurate and correct?

6 A. Correct semantically and correct in terms of
7 what we intended for them; that was not always easy to
8 do.

9 Q. Sure. Would those be considered the standard
10 of care required of the personnel at the hospital?

11 A. No. We tried to not put this in a cookbook
12 style. We tried to leave enough leeway for the
13 professionalism of the nurses and of the doctors to be
14 able to have some variations within a general
15 guideline as to how to perform.

16 Q. Well, I mean would your procedures read if you
17 see late decelerations you may or may not call the
18 physician, depending on whether or not you feel Pike
19 it; I mean that's not what you're talking about, is
20 it, Doctor?

21 A. No. No. If you mentioned late deceleration,
22 we would mention specifically if late decelerations
23 were seen repeatedly, then the house physician or the
24 attending physician should be notified.

25 Q. So in other words, something like that you would

1 set out specifically so there wasn't any room for
2 misinterpreting it, correct?

3 A. Yes. That would be correct.

4 Q. So that certain guidelines, when it came to the
5 health and safety of your patients, you would want to
6 be as specific as possible so when nurses read them,
7 that would be the standard of care applied to them;
8 would it not?

9 A. It's difficult to give very specific
10 instructions on something that has a subjective
11 quality to it such as an electronic fetal heart
12 monitoring. We'd like to have certain standards, but
13 they should be broad and they have to be broad, If we
14 limit it to specifics, then we're going to have the
15 nursing staff and the physicians acting on things that
16 are not necessary to act on, so we left them rather
17 broad or we tried to leave them rather broad.

18 Q. But however you left them, within the parameters
19 that you left them, that would be the proper standard
20 of care, correct?

21 A. Yes.

22 Q. To what extent did you yourself get involved in
23 training of nurses with respect to their duties and
24 functions or would you delegate that to someone within
25 the nursing community?

1 a. The nurses had their own nursing instructors and
2 then we as physicians and myself would have short
3 courses on electronic fetal monitoring, discussions
4 from time to time.

5 Q. So you'd provide them with some instructions on
6 how to read fetal monitors?

7 a. Yes.

8 Q. In terms of their day-to-day management of
9 a patient, would that be them left to their nursing
10 administrators to instruct them as to how that should
11 be done?

12 A. Usually, yes. Yes.

13 Q. But on the other hand, only you as a physician
14 would have to arrange for the purpose of patient care,
15 right, on a daily basis.

16 Would you tell them of any
17 peculiarities you yourself might have as it relates to
18 how you wanted them to deal with your patients?

19 A. I don't think I have peculiarities.

20 I think the nurses were all given
21 instructions by the nursing supervisors and the
22 nursing instructors who came out. I think we'd have
23 more interaction when there was a problem in
24 interpretation of a strip. The doctor would bring it
25 to me or to the nursing supervisor and say look, this

1 is this and this; they didn't call me for this. When
2 they did call me or whatever, we would become involved
3 in that sense, but on a daily basis, it really wasn't
4 a problem. Most of the nurses were really well, well
5 trained with the fetal monitor.

6 Q. You would expect that to be true; would you not?

7 A. Sure.

8 Q. Of the nurse in the obstetrical unit?

9 A. Sure.

10 Q. That is a requirement; is it not?

11 A. Of course.

12 Q. That she should be able to read a fetal monitor
13 strip?

14 A. Yes.

15 Q. And be able to recognize a Bate deceleration?

16 A. Late decelerations can be very subtle and when
17 they occur occasionally, I would dare say that if we
18 had ten fetal neonatologists reading a fetal monitor,
19 that there would be some difference of opinion as to
20 which was or was not a late deceleration.

21 Q. So is the answer to my question that you don't
22 really know if they should or shouldn't be able to
23 recognize a late deceleration?

24 A. They should be able to recognize. I think so.

25 Q. You can recognize one, too, can't you, Doctor?

1 A. Yes.

Q. Do you agree that -- well, have you been told of
3 the deposition that was taken last Friday of the other
4 expert in this case on behalf of the hospital,
5 Nurse --

6 MR. MELLINO: Dipasquale.

7 A. I know the name, but I don't believe I saw her
8 deposition.

9 Q. How do you know the name?

10 A. It's come up before.

11 Q. In other words, she's testified before in cases
12 you have been involved in?

13 A. Yes.

14 Q. On the same side?

15 A. I don't think so. I don't know. I can't say
16 that. I don't know.

17 Q. Who were you retained by in this case?

18 A. Mr. Aughenbaugh.

19 Q. He's the one that contacted you?

20 A. Yes.

21 Q. I noticed one letter from Mr. Aughenbaugh dated
22 August 25, 1992. Were there other letters from him?

23 A. If there was, I don't recall them. There must
24 have been if I got the charts, then there must have
25 been.

1 Q. Where are they?

2 a. I don't know. I tried to bring in everything
3 I could this morning.

4 Q. You didn't remove anything?

5 A. No. No. Nothing's removed.

6 Q. Nurse Dipasquale as well as Dr. Edelberg
7 indicated that at least one Pate deceleration existed
8 on the strip; do you agree with that?

9 A. Yeah, I think there possibly was a late
10 deceleration.

11 Q. Well, possibly or was it? I mean nobody else
12 has any trouble with that one, do you?

13 A. Well, I think -- well, I think that it probably
14 was a late deceleration. Yes.

15 Q. Are there more than one in your opinion?

16 A. There may have been a second one after that.

17 Q. We're talking about a 12-minute period span of
18 time, correct?

19 A. You mean a 12-minute period, of it lasting
20 12 minutes?

21 Q. No. There's a span of time of 12 minutes.

22 A. Two late decelerations. They followed after
23 Vistaril had been given the patient and they
24 recovered.

25 Q. I beg your pardon?

1 A. And they recovered. Both of them recovered **and**
2 were not repetitive after that.

3 Q. How do we know that? The monitor was taken off,

4 A. The monitor wasn't taken off. I think she had
5 to go to the bathroom. She was a difficult patient.

6 Q. Were you there?

7 A. No. I read the nurses' notes.

8 Q. I see.

9 A. And the nurses' notes said that she was
10 screaming and very restless, and I can imagine
11 that a single, 21 year old patient coming in at
12 twelve midnight in a strange hospital would be
13 difficult.

14 Q. Would it be different if she were a married
15 21 year old?

16 A. Probably, yeah, because I suppose *that* she would
17 have a support person with her.

18 Q. Was there a support person with her?

19 A. Not that I've ever seen on the record. Maybe
20 there was, but I'm not aware of it.

21 Q. Let's assume that there was. Would that then
22 change your opinion in this case?

23 A. I think she probably -- well, it would change
24 what my opinion was. I could see where it would be
25 difficult to control her.

1 Q. So whether she's single or married affects your
2 opinion in this case?

3 A. Yeah. I think that single women coming in
4 in labor are quite often under more pressure than the
5 married woman in a good relationship. I'm not
6 advocating it, I'm saying that they would be and they
7 are.

8 Q. Was the monitor put back on after thns
9 12-minute period of time?

10 A. They had -- they were using the doppler.

11 Q. At any time you don't understand a question,
12 I'll be happy to rephrase it.

13 A. I understand.

14 Q. But if you understand it, why don't you answer
15 it?

16 A. You asked was the monitor put back on.

17 Q. That's right.

18 A. They monitored her by doppler.

19 Q. So the answer is no?

20 A. No. It was the monitor.

21 Q. Was the fetal monitor put back on, Doctor?

22 A. The fetal monitor as used by the doppler was.

23 Q. Was the fetal monitor?

24 A. You mean the electronic monitor?

25 Q. Yes.

1 A. No. No. The electronic monitor, no.

2 Q. Why not?

3 A. From the nurses' notes it looked like it was
4 difficult to keep one on her.

5 Q. Should it have been put back on?

6 a. I think it should have if it could have.

7 Was it a violation of the hospital policies at
8 Good Samaritan Hospital to have allowed Miss Aumen to
9 go to the bathroom after being given an enema?

10 A. No.

11 Q. That's based upon what, your answer is based
12 upon what?

13 a. On experience.

14 Q. Excuse me. I asked you if it was a violation of
15 their policy.

16 A. Not that I'm aware of.

17 Q. Did you ask for the policies in this case?

18 A. No.

19 Q. The procedures and policies of the hospital?

20 A. No.

21 Q. The reason I asked you the question earlier
22 about whether or not the policies and procedures
23 established standards of care was to see how
24 interested you were in determining what the standard
25 of care was at this hospital, because

1 I didn't see them in the records that you reviewed
2 and apparently they haven't been given to you.

3 A. No.

4 Q. So you're not aware of what those policies and
5 procedures set out, Doctor?

6 A. I'm aware of what 35 years of doing obstetrics
7 and gynecology involves.

8 Q. So the answer to my question is no, you're not
9 aware of what those policies and procedures were?

10 A. I think I did read over the policies but I don't
11 recall them.

12 Q. Could you show them to me?

13 A. I don't know if I have them here.

14 Q. Well, take a look.

15 A. They're riot here. If you're going to ask me do
16 I have everything here, I would hope so, but maybe
17 I don't, you know.

18 a. Well, you know, Doctor, this is not a game.

19 I mean I asked you --

20 A. I don't have any game with you. I don't have
21 any game.

22 Q. Sure you don't. I asked you what you reviewed
23 you told me what you reviewed. Are you saying there's
24 now other things that you reviewed?

25 A. There may have been.

1 Q. Why don't you get them. I'll wait here as long
2 as I have to.

3 A. Well, let me see if I have them. I'm not hiding
4 anything.

5 Q. Fine.

6 - - - - -

7 (Interruption in proceedings.)

8 - - - - -

9 A. This was on the floor. E didn't hide it.

10 Q. You didn't what?

11 A. I didn't hide it or anything, this was on the
12 floor.

13 Q. Were you given this to review?

14 A. Yeah.

15 Q. When were you given this?

16 A. I don't know, Maybe the other day. I don't
17 know. I've had it with this.

18 Q. You happen to be under oath, all right, and I'd
19 appreciate your giving me honest answers.

20 When were you given this, Doctor?

21 a. I am giving you an honest answer. I don't know.

22 Q. Were you given it within the last day or so?

23 A. I don't know. I don't remember.

24 Q. Were you given it today?

25 A. I don't know.

1 Q. You don't remember if you were given it today?

2 A. No, because I brought in all of these records.
3 I carried all of these records in.

4 Q. Would you remember if you were given it today?

5 A. Probably not. It's very important to you, but
6 it was not important to me.

7 Q. Bylaws aren't important to you?

8 A. NO.

9 MR. KAMPINSKI: Mark that H.

10 - - - - -

11 (Dr. Post Deposition Exhibit H

12 marked for identification

13 - - - - -

14 Q. I'm going to hand you what's been marked
15 Exhibit H, Doctor, and I'm going to ask you
16 if you were give that today by Mr. Aughenbaugh
17 or Mr. Shafer?

18 A. I got this.

19 Q. When?

20 A. E don't know whether I got this today. I really
21 don't know. If you want an answer just so you get an
22 answer, I can make up an answer, but I don't know.
23 I don't know if E had these before.

24 You're both grinning as if I would be
25 lying. I'm not lying about anything. I don't have

1 anything to be lying about.

2 MR. AUGHENBAUGH: They're just
3 teasing you. That's the way they are.

4 A. E understand. He's got a stupid grin on his
5 face. You can put that **down**.

6 MR. KAMPINSKI: Put everything
7 down.

8 Q. Is there anything in Exhibits A through H that
9 refer to the policy and procedures or the bylaws,
10 Doctor?

11 A. In here?

12 Q. Yes, sir.

13 a. I don't think so.

14 Q. Why don't you take a look.

15 a. Nope.

16 Q. If you had received that along with the other
17 materials, Doctor, would you have marked down what was
18 important that was contained in those bylaws or
19 procedures?

20 A. Probably not.

21 Q. Because they're not important to you?

22 A. No.

23 Q. What texts do you consider authoritative in the
24 obstetrical field?

25 A. What texts do I refer to from time to time?

1 There's no text that's absolutely authoritative.

2 All text books are opinions of the authors. What do
3 I use? Danforth, Williams.

4 Q. Would you agree that Williams is authoritative?

5 A. Williams is good. Yes.

6 Q. Is there a correlation between hypoxia during
7 labor and cerebral palsy?

8 A. Yes,

9 Q. Would you agree that late decelerations are an
10 indication for early delivery?

11 A. No.

12 Q. Would you agree that a heart rate less than 100
13 is a problem or a potential problem with the child,
14 especially if it's coupled with late decelerations?

15 A. Depends how often they occur and when they
16 occur. It depends on the entire record that you have
17 rather than just the isolated event of a bradycardia.

18 Q. So you don't agree or disagree?

19 A. No.

20 Q. Do you agree that it's appropriate to rupture
21 membranes to check if there is meconium present and
22 then put on an internal. lead if in fact you have an
23 instance of an abnormal strip, a late decel or
24 bradycardia?

25 A. Not in itself, no. You're talking about

1 one episode of bradycardia or you're talking about
2 repeated episodes of bradycardia?

3 Q. Define "bradycardia" for me.

4 A. A decrease in heart rate, usually below 100
5 lasting for anywhere from three to ten minutes.

6 Q. Would you agree that bradycardia is anything
7 that falls below 120?

8 A. No.

9 Q. Would you agree that you need a pediatrician
10 present to do suctioning of meconium if in fact it's
11 present on a newborn?

12 A. I think it would be nice to have one. One can't
13 always have it.

14 Q. Does a child respond to fetal distress by
15 changing its heart rate?

16 A. Does a child respond to --

17 Q. Child in utero?

18 A. By changing its heart rate? Yes.

19 Q. Which authors would you consider
20 authoritative -- that's not a fair question.

21 Do you know Dr. Sussman?

22 A. Yes. Sure.

23 Q. Would you consider his writings authoritative?

24 A. No. I mean not anymore so then anybody else's
25 writings, even my own writings.

1 Q. What are your writings?

2 A. They represent my experience.

3 Q. When was the last time you published anything?

4 a. I don't publish.

5 Q. What writings are you referring to, your
6 memoirs?

7 A. No. It's an idea, though. No. It comes about
8 as a result of my years of practice and continuing
9 interest in obstetrics.

10 Q. My question is: What writings are you referring
11 to? You don't have any writings, do you?

12 A. Yes, I do. I have all these notes that I have
13 prepared for review of cases and review for the
14 hospital staff when I worked as a chief of staff.
15 You asked me about my writings. Every meeting we had,
16 I would write up cases for discussions.

17 Q. No. I asked you about Dr. Sussman's writings
18 and when I referred to his writings, I meant his
19 published writings and you said you didn't consider
20 him authoritative.

21 a. I think he's authoritative in one respect, in
22 his writings on hypertension.

23 Q. Would you agree that if you have a normal blood
24 pressure reading, that that would not cause you to
25 ignore elevated ones in terms of determining whether

1 or not that's pregnancy-induced hypertension?

2 A. Would you repeat that?

3 MR. KAMPINSKI: Could you read
4 that back?

5 - - - - -
6 (Question read.)

7 - - - - -
8 A. There's so many negatives that I have
9 difficulty, so let me reword it if I might and then
10 give you an answer, and if it's not appropriate you
11 can give it back to me.

12 If the blood pressures were normal and
13 I had elevated ones mixed in there, I would ignore the
14 elevated ones and take the lower blood pressure,

15 Q. What if you had elevated ones and you had
16 a normal one mixed in there, would you ignore the
17 elevated ones because you had a normal one?

18 A. Yes.

19 Q. You would?

20 A. Yes. I always take the lowest one, the lowest
21 systolic and the lowest diastolic. Yes.

22 Q. That would then assure you of the
23 pregnancy-induced hypertension?

24 A. If **this** patient had absolutely no evidence of
25 pregnancy-induced hypertension.

1 Q. Well, evidence of pregnancy-induced hypertension
2 can be elevated blood pressure, can't it?

3 A. Yes, not isolated ones.

4 Q. When you say isolated ones, you're talking about
5 once again how you responded to my question, that is
6 an elevated one mixed in with a number of low ones?

7 A. No. What I'm referring to is the records khat
8 we're dealing with,

9 Q. I'm Just asking you general questions, Doctor.

10 a. Well, the general questions don't have specific
11 answers to them.

12 Q. They don't?

13 A. No. Not in my opinion they don't.

14 Q So that just so I understand and we're both on
15 the same page, I mean if you had a number of elevated
16 blood pressure readings and you had an isolated normal
E7 blood pressure reading, you would ignore the elevated
18 ones, correct, for the lowest reading that you got and
19 that would assure you of the non-existence of the
20 pregnancy-induced hypertension; is that correct?

21 A. No.

22 Q. Then you tell me what's correct.

23 A. Because you made the sentence so long and
24 involved as to lose the meaning for me as to what
25 you're talking about.

1 Q. Where did you get lost?

2 A. I don't know what you're implying- If I had a
3 series of blood pressures that were elevated and one
4 that was normal, which would I think was the correct
5 one?

6 Q. Yes.

7 A. I would think that the low one was.

8 Q. And you would ignore the elevated ones?

9 A. In essence, yes.

10 Q. Why is that?

11 A. Because most of the elevated ones will be due to
12 nervousness and tension and pain and that's been my
13 experience. Pregnancy-induced hypertension requires
14 two separate blood pressures six hours apart no matter
15 what: the first blood pressure is.

16 Q. What do you teach nurses to look for on a fetal.
17 heart monitor?

18 A. Baseline,

19 Q. What else?

20 A. Tachycardia.

21 Q. Anything else?

22 A. Variability, late, variable, and early
23 decelerations.

24 Q. Anything else, is that it?

25 A. Yes. I may think of others as we go along, but

1 right now --

2 Q. Would you agree that when there is a change in
3 a fetal monitoring strip that can be harmful to the
4 child, that it's the responsibility of the nurse to
5 report the change to the physician?

6 A. When there's a change in the monitoring strip
7 that can be a danger? There is never a change on the
8 monitoring strip, one change, that is ever a danger to
9 the baby.

10 Q. So the answer to the question is no?

11 A. No.

12 Q. Would you agree that if an individual, a nurse,
13 does not have the ability to read and recognize and
14 interpret significant changes in the fetal monitoring
15 tape that she shouldn't be doing that particular job
16 then?

17 A. If she is not competent to read the tape, then
18 she shouldn't be doing the job. Yes, I agree.

19 Q. I said shouldn't. I think you meant she should
20 not be doing the job.

21 A. Should not, yes.

22 Would you excuse me for a second?

23 MR. KAMPINSKI: Sure,

24 - ' - - -

25 (Interruption in proceedings.)

1 BY MR. KAMPINSKI:

2 Q. Would you agree that Dr. Berman is
3 authoritative?

4 A. Dr. Berman is authoritative.

5 Q. You do agree?

6 A. I don't know if I would agree with everything he
7 would write anymore than I would agree with everything
8 Dr. Sussman writes. If you show me what particular
9 piece.

10 Q. Just in general.

11 A. I don't know if he's authoritative on everything
12 or if I would agree with everything he writes anymore
13 than Dr. Sussman. No.

14 Q. Have you given opinions previously about nursing
15 care?

16 A. Yes.

17 Q. In those instances where you've done that have
18 you showed the charts to nurses to get their opinions
19 about the nursing care?

20 A. If I have I don't recall doing it.

2% Q. If you have a problem with a patient having
22 decelerations, do you then put an internal monitor
23 lead on?

24 A. Not the way you ward that. No.

25 Q. Does an internal monitor give you better reads

1 than an external monitor?

2 A. Internal monitor, yes, will certainly show
3 variability.

4 Q. If you had a pattern on a monitor strip that you
5 weren't sure of, would you put on an internal. lead?

6 A. If I h d a problem with the monitor?

7 Q. No. If you had a pattern that you were not sure
8 of, would you put on an internal lead?

9 A. Only if the cervix were dilated to an extent
10 that I could get one on easily and if the vertex was
11 well into the pelvis.

12 MR. KAMPINSKI: Could you read
13 that back, please?

14 - - - - -
15 (Answer read.)

16 - - - - -

17 Q. Could an internal monitor have been put on
18 Susan Aumen at midnight?

19 A. I'll have to look at the record.

20 Q. Sure. At any time you need to look at the
21 records, look at it.

22 A. At 12:45 she was 5 cm. dilated. I think they
23 could have tried to put a monitor on then, a fetal
24 monitor.

2% Q. How about at midnight?

1 A. She didn't have difficulty then. She was
2 only -- may have had difficulty with a 1 to 2 cm.
3 dilated.

4 Q. Could they have done that then?

5 A. They might have tried but they didn't, and
6 I could really see where it might be difficult to put
7 one on. I think it would be difficult to put an
8 internal monitor on a patient who was as they describe
9 here, you know.

10 Q. Why is that?

11 A. Because she's moving around a great deal and you
12 really need the cooperation of the patient to be able
13 to do it..

14 Q. Well, I mean did they tried to do it and they
15 weren't able to; is that what you're saying?

16 A. I don't know that, but I do know that she was
17 difficult to control, fussy. They kept mentioning
18 several times that she was very fussy, which I suspect
19 meant that she was moving around quite a bit. She was
20 having a lot of abdominal pressure, screaming with
21 pain. That kind of patient is not one to put on --
22 not easy to put on internal monitor. In fact, it
23 might be very, very difficult to put one on.

24 Q. Is that a physician's decision or a nurse's?

25 A. well, I suspect it would be a physician's.

1 I don't think the nurses are permitted to put on
2 internal monitor.

3 Q. Did you read Dr. Slagle's deposition in this
4 case?

5 A. Yes, I did.

6 Q. Do you have any opinion as to whether or not he
7 did anything wrong in this case?

8 A. No. No. I don't think that he did anything
9 wrong. I think that he worked within the framework of
10 what his experience is and what his abilities take
11 him -- took him.

12 Q. Did you disagree with any of the testimony given
13 by Dr. Slagle?

14 A. I don't remember specifically. I think --
15 excuse me.

16 Q. Sure.

17 a. I may have it written somewhere. I don't have
18 anything. No. I'm sorry, I don't have. Whatever
19 notes I had on Slagle's, I just don't see, but as
20 I recall, if I might be permitted to remember, did he
21 say had he been notified he would have done something
22 else, Like he would have done a cesarean section? At
23 what point he would have done that I'm not quite sure,
24 at least from my memory.

25 Q. Well, do you agree or disagree with Dr. Slagle's

1 testimony that Miss Aumen should have used a bed pan
2 if she was on a monitor as opposed to having a monitor
3 removed; do you agree?

4 A. That's silly.

5 Q. Does that mean you disagree?

6 A. Absolutely it means I disagree.

7 Q. Do you know that that's what the policy and
8 procedure of Good Samaritan Hospital required?

9 A. No, I didn't know that.

10 Q. Is that then silly of them to require that?

11 A. Yes.

12 Q. Do you agree or disagree with Dr. Slagle when he
13 testified that he should have been contacted if there
14 was an abnormal heart rate or when the heart rate was
15 88 beats per minute; do you agree or disagree?

16 A. You have to repeat it.

17 MR. KAMPINSKI: Read that back.

18 - - - - -

19 (Question read *I

20 - - - - -

21 A. I think they could have notified him.

22 Q. This is not a toughie, either you agree or you
23 don't agree.

24 A. It's tough for me. It's riot tough for you to
25 ask, but it's tough for me to answer if you want a

1 truthful answer,

2 Q. That would be nice.

3 A. Okay.

4 Q. What's the answer?

5 A. The answer is that they could have notified him,
6 but certainly we've never made that an absolute
7 requirement.

8 Q. He said he should have been notified, is that
9 different?

10 a. Yes,

11 Q. Answer my question then. Should he have been?
12 Do you agree that he should have been notified?

13 A. I can't put it in that kind of framework.

14 Q. So you disagree then?

15 A. I can't put it in a framework that he should or
E6 shouldn't have been. He believes *that* he should have
17 been, so therefore he should. have been,

18 Q. So you agree with him?

19 A. But the nurses feel that he shouldn't have been
20 and they didn't, so I can agree with that, too, so you
21 cannot pin me into the position about either one as
22 being right or correct.

23 Q. Well, I guess I can't. If you don't want to
24 answer the question, I suppose I can't pin you down to
25 a position. So you have no opinion as to whether or

1 not they should have contacted him on that?

2 A. I understand the nurses not contacting him.

3 I do not think it is a failure to respond correctly by

4 not contacting him with one episode of a bradycardia.

5 Q. With one episode?

6 A. Yes,

7 Q. Was that all there was?

8 A. That's all I saw there, unless you have

9 something else to show me.

10 Q. Well, what do you consider one episode, one

11 reading?

12 A. One reading. Yes.

13 Q. And you didn't see more than one reading of

14 bradycardia?

15 A. Well --

16 Q. Is that right?

17 A. There were episodes after the monitor came off

18 in which the heart rate was down but did respond.

19 From the time that they had the major bradycardia,

20 I have the time episodes here. "Bradycardia at

21 12:23 a.m."

22 Q. What are you reading from, Doctor?

23 A. My notes.

24 Q. Which one? Which exhibit, please?

25 A. I'm sorry. Exhibit A.

1 Q. Those aren't your notes. Those are your notes
2 of Dr. Edelberg's deposition.

3 A. Right. But I'm commenting on his discussions of
4 the bradycardia I presume that you're discussing.

5 Q. That's fine. Just so we understand what you're
6 reading from. He's the one that set forth the time
7 sequence, you're just copying down what he said in his
8 deposition.

9 A. No.

10 Q. NO?

11 a. No. This is what I got.

12 Q. Well?

13 A. Well, I wrote down "Doctor's discussion on
14 page 72 suggesting possible notification of a
15 fetal heart of 117 is bizarre," I don't know if you're
16 referring to that as a bradycardia,

17 There was a bradycardia that occurre
18 and I thought that Dr. Edelberg -- Dr. Slagle was
19 referring to the bradycardia that occurred at 1:23
20 because the patient delivered at 1:57. I don't know
21 what they would have done at 1:23 when the bradycardia
22 occurred.

23 Q. Is that the first bradycardia then?

24 A, That's the one that they mentioned there was
25 what he refers to as a bradycardia. At 117, that's

1 not a bradycardia,

2 Q. E thought you defined it as less than 120.

3 A. That was your definition.

4 Q. I'm sorry. You disagreed with that.

5 A. Yes.

6 Q. Do you disagree with Dr. Slagle when he
7 testified that he should have been contacted if there
8 was a severe drop in the heart rate; do you disagree
9 or agree, Doctor?

10 A. Say that again.

11 Q. Sure. Do you disagree or agree with Dr. Slagle
12 when he testified that he should have been contacted
13 when there was a severe drop in the heart rate?

14 A. If there was a severe drop in the heart rate, he
15 should have been.

16 Q. Was there a severe drop in the heart rate, sir?

17 A. The one that I have as a severe drop was
18 at 1:23.

19 Q. What do you define as a severe drop in the heart
20 rate?

21 A. A drop below 100 for a period of time.

22 Q. Is a drop of 20 or 30 beats per minute a severe
23 drop?

24 a. No. It depends on how it recovers.

25 Q. What if it doesn't recover?

1 A. For how long?

2 Q. Ever.

3 a. If it doesn't recover forever, of course he
4 should be notified; that's ominous.

5 Q. Do you agree with Dr. Slagle that that should
6 have been done if a nurse was not getting good
7 readings?

8 A. If Dr. Slagle feels he should have been told, he
9 should have been told.

10 Q. So you agree?

11 A. If he felt that he should have been told, then
12 he should have been told. I don't know what he would
13 have done about it because they were listening with
14 the doppler.

15 Q. No. We was referring to the fetal heart rate
16 monitor, Doctor.

17 A. Well, I don't know. Well, I don't know what
18 he's referring to on the fetal heart monitor that he
19 should have been notified, at what point.

20 Q. At any point are there good heart readings
21 throughout on the fetal heart monitor?

22 A. I'd like to go over them with you if you like.

23 Q. I just asked a question. If you'd like to point
24 them out to me --

25 a. I think the fetal heart monitor was fine,

1 exemplary.

2 Q. Superb?

3 A. Not superb, no.

4 Q. That's pretty good on a lady that they were
5 having difficulty controlling, isn't it?

6 A. I assume at the beginning that they had less
7 difficulty with her than later on, than when she
8 progressed in labor,

9 Q. Did there come a time when it was very difficult
10 to interpret the monitor strip?

E1 A, The monitor strip is fine to 11:40, 11:50, fine;
12 this is okay. Here we have -- obviously she was
13 given -- she was only 1 to 2 centimeters dilated.
14 This was now at 23:53, that's seven minutes before
15 12:00. Obviously she was given an enema. She was
16 only
17 1 to 2 centimeters. There's nothing that
18 contraindicates use of an enema at that point,
19 She was only 1 to 2 centimeters dilated.

20 Q. Do you understand my question, sir?

21 A. I thought we were reading EKG's. I forgot your
22 question.

23 Q. I asked if there came a point in time where the
24 EKG's were no longer -- not the EKG's, the fetal
25 monitor was no longer readable?

- 1 A. **Was** there a time? Yes.
- 2 Q. When was that, sir?
- 3 A. This is at 12:50, about ten to 1:00.
- 4 Q. Was **it** readable from 12:05, after 12:05?
- 5 A. Yes, sure.
- 6 Q. It was?
- 7 A. Yes.
- 8 Q. Till 12:35?
- 9 A. Till -- this is 12:50, 12:35, okay.
- 10 Q. You can read **it** from 12:05 to 12:35, Doctor?
- 11 A. Yeah.
- 12 Q. Good reading?
- 13 A. I mean and it's relatively obvious there was
- 14 a baseline of 150 with no decelerations with somewhat
- 15 decrease in variability.
- 16 Q. Just so the record is clear, what frames refer
- 17 to the frame numbers that you're looking at, sir?
- 18 A. 49519.
- 19 Q. Keep going from 12:05 to 12:35.
- 20 A. This is 12:35 I'm up to. Let ~~me~~ take **it** back.
- 21 Do you want **it** from 12:05?
- 22 Q. So you don't even know what you were looking at,
- 23 sir, Is this funny to you?
- 24 A. Yes.
- 25 Q. Is this humorous?

1 A. Yes. *Yes.*

2 Q. Do you want to answer my question now?

3 A. What would you Like?

4 Q. ~~How~~ about the truth for a change?

5 A. I didn't hear you,

6 Q. Can you read the monitor strip between 12:05
7 and 12:35, sir?

8 A. Yes.

9 Q. What panels are we referring to?

10 A. 49517, 49518, 49519, 49520.

11 Q. 'Phose are all adequate tracings for your
12 purposes, correct?

13 A. Yes,

14 Q. You said they became problematical, I'm sorry,
15 you said at 12:50?

16 A. Yes. Probably a little bit before 12:50 maybe.

17 Q. What time?

18 A. 12:45, something like that.

19 Q. What panel was that?

20 A. 49522.

21 Q. What's the problem?

22 A. You can't get a good reading,

23 Q. Should the doctor have been notified if the
24 nurse was not getting a good reading?

25 A. *Yes.* If after a period of time they couldn't

P get a good reading, but as soon as you don't get a
2 good reading, you can't call immediately because you
3 have to see if you can get a good contact on the
4 patient. So it took a while of trying to get a good
5 contact, You can't know from second one that you
6 don't have a good contact, that you call the doctor
7 immediately. You try to get a good contact.

8 Q. Bid they get a good contact?

9 A. That was at -- no. They did not get a good
10 contact. This **was** at 12:45 and they still did not
11 have a good contact. No.

12 Q. Doctor, would you turn to the Pate deceleration
13 for me, if you would, please, Have you got it?

14 A. Yes,

15 Q. What panel number, sir?

16 A. 4951 -- I guess this is 7 because **it's** not
17 listed on this side, but -- it is 7. Yeah.

18 Q. Is that the only one?

19 A. And -- well, that's -- yeah, 49517.

20 Q. Bow about 16, 49516; is that a late decel, sir?

21 a. 16?

22 Q. Yes, sir.

23 a. No.

24 Q How about 49515?

25 A. No.

1 Q. No?

2 A. Absolutely not.

3 Q. Really?

4 A. Absolutely not. No.

5 Q. Any other late decels other than 49517?

6 A. That's all I see.

7 Q. Now, let me see if I understand.

8 What happened to the fetal heart
9 monitor after the contraction on 49517, was it
10 removed?

11 A. No. It was on 49518.

12 a. Can you tell whether or not that is a response
13 to a contraction at all on 49518? There's no
14 contraction, is there?

15 A. Sure. That's a contraction.

16 Q. Where?

17 A. At 0035.

18 Q. Well., can you tell what the baby's heart rate is
19 in response to that contraction?

20 A. Well, certainly the baby's heart rate is hung at
21 a baseline of 150. I don't see any decelerations or
22 any indication that there was a deceleration, nor in
23 the three previous or the two previous contractions.

24 Q. So that when we see contractions then on --
25 where do we see the next contraction, 49519, between

1 that and 49520?

2 A. There's one at 9 and there's one at 20; between
3 9 and 20, yes.

4 Q. What do you see on the baby's heart rate?

5 A. I see a baseline of 150.

6 Q. And that's reassuring then to you?

7 A. Yes. I see somewhat decrease in variability
8 from what it was before.

9 Q. Does that concern you at all?

10 A. Not at all, because she had Vistaril and
11 Vistaril causes a decrease in variability.

12 Q. So what you see then on 49519, 49520, that's all
13 reassuring to you as a figure, correct?

14 A. Yes, absolutely .

15 Q. Wow about 49521?

16 A. Fine.

17 Q. That's good, too?

18 A. Yes.

19 Q. What we're talking about now is the baby's
20 heart rate, right?

21 A. Yes.

22 a. Which is the upper portion of these fetal
23 monitor strips, correct?

24 A. Yes.

25 Q. So am I correct then that it's your

1 interpretation that after this late deceleration which
2 we saw on 49517, the fact that there was some
3 reassurance on the panels thereafter would preclude
4 any concern about this baby being in fetal distress;
5 would that be a fair statement?

6 A. Yes.

7 Q We wouldn't be able to be assured of that,
8 though, if for example there was no fetal monitoring
9 after the late deceleration?

10 A. Well, you -- say that again?

11 Q. For example, if we had the Late decel, I think
12 you said on 49517, correct?

13 A. Yes.

14 Q. And then we didn't have any further readings to
15 reassure the nurse or the physician, that would be
16 somewhat troublesome?

17 A. You're assuming there wasn't a reassurance?

18 Q. Yes,

19 A. As I interpret these fetal monitoring strips in
20 the nurses' notes, there was presence of reassurance.

21 Q. Okay.

22 A. You say if there wasn't the reassurance, yes, it
23 would be a concern, but if there was, there wouldn't
24 be a concern, and especially when she had just
25 received this Vistaril and she obviously had to change

1 positions in order to get the Vistaril,

2 Q. What **was** the baby's heart rate after 12:45?

3 No. I mean from the monitor strip,
4 Doctor.

5 A. Well, the monitor strips didn't have good
6 contact so we had to use the doppler, which is
7 standard of care when you don't have good contact with
8 an external monitor, you use the doppler.

9 Q. Is it?

10 A. Do you want to know the heart rate now?

11 Q. What are you looking at to find it?

12 A. At the nurses' notes.

13 Q. Okay.

14 A. At 12:35, 150, 152.

15 Q. Excuse me. Was that related to a contraction?

16 A. I don't know.

17 Q. Well, **wait** a minute, I mean isn't it?

18 Well, what's the importance of
E9 relating a heart rate to a contraction?

20 A. To determine whether it was a late deceleration
21 or not.

22 Q. If you don't know when the contraction is, how
23 can you determine if there is a deceleration?

24 A. This woman is in the second stage -- getting
25 close to the second stage of labor. They're getting a

1 doppler on her as often as they can. The fact that
2 they -- I don't know whether they did it right after
3 a contraction or not.

4 Q. Could you answer my question or not?

5 A. Your question is was it after a contraction?

6 Q. Yes.

7 A. I don't know.

8 Q. Then what assistance is that heart rate to you?

4 A. It tells me it was a normal rate,

10 Q. But it doesn't tell you what the rate is after a
11 contraction, which is the important rate that you need
12 to know, isn't it?

13 A. Yeah, At this point, if she had if she had a
14 normal rate when you're listening to a doppler and you
15 aren't necessarily getting it after contraction,
16 I think with getting along in labor as rapidly as she
17 was at this point that you would be following hex, and
18 I suspect that you would be getting it after
19 contraction, but I don't know.

26 Q. I'm sorry. What was the medication, Vistaril?

21 A. Yes,

22 Q. How long does that have an effect on heart rate?

23 A. It may have an effect for some time.

24 Q. How long?

25 A. It may have been 30 mintites.

1 Q. I'm sorry. Go ahead. You were telling me the
2 heart rates.

3 A. Petal heart rate at 1:02, 142 to 150. "Patient
4 feels lots of abdominal pressure, screaming with
5 pain." 1:10, 136; 117 at 1:15. Dr. Edelberg made it
6 seem that 117 as being very significant.

7 Q. Why are you laughing again?

8 A. It's not unusual in the second stage of labor
9 for a patient to have decelerations with head
10 compression as they push and that's almost par for the
11 course.

12 Q. Let me ask you something, Doctor. You have been
13 analyzing a number of these findings as indicating
14 that they're not unusual and this can happen and
15 you've taken that view of things in this case as you
16 have in many other cases because you testify a lot for
17 the defense,

18 A. I've testified for the plaintiff, too.

19 Q. Have you?

20 A. Yes.

21 Q. What are the names of the cases?

22 A. I could tell the names of the lawyers, but.
23 I can't tell you names of the cases.

24 Q. Tell me the names of the lawyers.

25 a. Lancione, Janet Stitch. I have a list of them.

1 I'll give you a list of plaintiff's attorneys.

2 Q. Let me have them.

3 A. Do you want them right this minute?

4 Q. Right this second, We'll get back to what we're
5 doing.

6

- - - - -

7

(Interruption in proceedings*I

8

- - - - -

9 Q. I'm sorry. Would you give me the list?

10 A. She's making up the list.

11 Q. Any others that you can think of?

12 A. Yes, She's making up the list. She'll yet an
13 accurate list for you.

14 &- As a physician treating patients, Doctor, do you
15 look at potential problem signs and prepare for the
16 worst, hoping that of course the worst doesn't happen,
17 but you know, recognizing that that is a possible
18 result of these warning signs as opposed to just
19 thinking the best; which do you do, Doctor?

20 A. What do you think?

21 Q. Well, I don't know. Why don't you answer me?

22 A. Well, of course.

23 Q. Of course what?

24 A. Of course you always have to keep in mind what
25 might happen, but that might be with any patient, with

1 anything.

2 Q. So you find Dr. Edelberg's concern about this
3 drop to 117 to be -- I'm sorry, how did you phrase it?

4 A. Bizarre.

5 Q. Bizarre.

6 A. I would like Dr. Edelberg someday to be able to
7 explain his readings of this monitor strip to me or
8 before a medical panel.

9 Q. So Dr. Slagle is also bizarre in his belief that
10 he should have been told of that?

11 A. No. I think he felt he should have been told.
12 It's his patient, he has a right to know, I don't
13 object to that. I do Dr. Edelberg.

14 Q. His name is Engelbert

15 MR. AUGHENBAUGH: No, it's not.

16 It's Edelberg.

17 You don't have to believe everything
18 he says just because he said it.

19 MR. KAMPINSKI: That's true,

20 a. I would like to publish my opinions in cases and
21 I'd like Dr. Edelberg to publish his for other doctors
22 to read, not just Lawyers.

23 Q. Do you believe that the nurse in this case that
24 was watching Susan Aumen deviated from the appropriate
25 standards of care required of her in failing to

1 recognize the existence of a late deceleration?

2 A. No.

3 Q. So it was okay that she didn't know that there
4 was a late decel?

5 A. There was one late decel or two late decels.

6 Q. Doctor, if you can answer my question, I'd
7 appreciate it.

8 Was it okay that she couldn't
9 recognize a late deceleration? Was it okay for this
10 woman to be caring for Susan Aumen, someone who
11 couldn't recognize a late deceleration; was that okay?

12 A. No.

13 Q. Was it a deviation of the policy and procedure
14 of Good Samaritan Hospital for her to fail to
15 notify the attending physician of the existence of
16 a late deceleration?

17 a. No.

18 Q. Doctor, whenever you were given Exhibit --
19 and if you'd hand me the policy and procedures,
20 Exhibit H, I assume even though you didn't really care
21 what it said, you looked at it, didn't you?

22 A. (Indicating affirmatively.)

23 Q. You have to answer verbally.

24 A. Yes.

25 Q. And the fact that it was on your floor in your

1 office, I mean you probably had it on your desk at
2 some time, didn't you?

3 A. Yes. Oh, yes. I remember looking at it, but --
4 I remember looking at it.

5 Q. Today?

6 A. I may have today. I came in in a hurry and
7 I was trying to go over stuff.

8 Q. Is this all you were given in terms of the
9 policy and procedures, it consists of four pages
E0 there, Doctor, that's stapled together?

11 A. I assume so.

12 Q. Could you identify each of those pages for me so
13 that we don't have any confusion later on?

14 A. "Rules and Regulations for Fetal Monitoring."

15 Q. That's the first page of Exhibit --

16 A. I see this is the first page of Exhibit H.

E7 Q. Does that have a number at the bottom of the
18 page?

19 A. That number?

20 Q. Yes.

21 A. Or this number?

22 Q. Well, it's got an "8" written there?

23 A. 8.

24 Q. And it's called what?

25 A. It's called "Rules and Regulations for Fetal

1 Monitoring."

2 Q. Next page is what?

3 A. 8A.

4 Q. All right.

5 A. "Bylaws of the staff, continued."

6 Q. Okay.

7 A. This is 9, "Fetal Heart Monitoring Policy," and
8 10 is "I.V. Pitocin Policy," page 10.

9 Q. Could I see that, sir?

10 All right. On page 9, which is the
11 fetal. heart monitoring policy', could you read please
12 what the policy is as it relates to indirect fetal
13 heart monitoring; would you read that, please?

14 A. Out loud?

15 Q. Yes.

16 A. Do you want me to read it?

17 Q. Yes.

18 A. "Indirect fetal monitoring may be started by the
19 nurse on patients in labor with a physician's order.
20 It should be left on at least long enough to establish
21 a baseline. It should be continued on the patient who
22 shows any deviation from normal pattern such as late
23 deceleration."

24 Q. Wait. Read that again,

25 A. "It should be continued on patient though who

1 shows any deviation from normal -- from normal pattern
2 such as" -- and then it says "Late deceleration,"

3 Q. Go ahead.

4 A. I don't know whether it means "A Bate
5 deceleration" or it means "Late decelerations,"

6 Q. It doesn't say "Late decelerations," does it,
7 Doctor? I asked you to read it,

8 A. I am trying -- trying to understand. If it says
9 "Normal pattern," how can a pattern be one
10 deeeleration?

11 Q. Why, Doctor, why are you arguing with me?
12 I didn't make up the procedure, the hospital did.

13 A. But you're asking me to --

14 Q. I'm asking you to read it,

15 A. "From normal pattern suck as late deceleration
16 or any questionable contraction pattern, the physician
17 should be notified immediately should this occur."

18 Q. That **wasn't** done, was it?

19 A. Well, I don't. know that there was a deviation
20 from normal pattern.

21 Q. Doctor, was there a late deceleration?

22 A. Yes.

23 Q. Was the physician notified --

24 A. No.

25 Q. -- of the late deceleration?

1 A. No.

2 Q. You've demonstrated that you can read that
3 accurately. I've read it as well. In terms of what
4 it says --

5 A. Yes.

6 Q. -- was it deviated from?

7 A. Yes. Except for the fact that it says "Normal
8 pattern," which you interpret as being --

9 Q. No. Excuse me.

10 A. I don't interpret it at all --

11 Q. Excuse me. Let me finish. It says "Normal.
12 pattern, such as," correct? They interpreted what
13 a normal. pattern is, Doctor. It says "Such as,"
14 in other words, it gives an example of a normal.
15 pattern and the example given is late deceleration; --
16 am I correct?

17 A. I didn't hear you, You don't have to yell.

18 Q. You're right. I don't. That's what it says and
19 they interpreted it, didn't they?

20 A. Yes.

21 Q. And that was deviated from, wasn't it, sir?

22 A. Yes.

23 Q. Was Miss Aumen post date?

24 A. She was past the due date.

25 Q. How long past?

1 A. Let me think about it. She had a non-stress
2 test after one week, so it must have been ten days,
3 41-plus weeks.

4 Q. I take it from some of your earlier responses
5 you don't believe that she had hypertension,
6 pregnancy-induced hypertension?

7 A. No, I don't.

8 Q. Would you agree that if she had
9 pregnancy-induced hypertension and was post dates that
E0 she required under those circumstances close
11 observation and continuous electronic fetal
12 monitoring?

13 A. Yes.

14 Q. How would you define "tachycardia"?

15 A. A rate that persists over 160 over a period of
16 several minutes.

17 Q. Did this child have tachycardia?

18 A. NO.

19 Q. Did the child ever have decreased variability?

20 A. Yes,

21 Q. Why is that?

22 - - - - -

23 (Interruption in proceedings.)

24 - - - - -

25 Q. As a matter of fact, I think you indicated that

1 there was decreased variability?

2 A. Yes, there was. Yes.

3 Q. Was that at all worrisome to you?

4 A. Not after Vistaril.

5 Q. At what time was there decreased variability?

6 A. Okay. Decreased variability from around --
7 right at the same time that late deceleration
8 occurred, around twelve o'clock and there's a decrease
9 in variability from twelve o'clock on, so it sort of
10 disappears over here.

11 Q. You have to tell.

12 A. I'm sorry. At 12:45, something like that.

13 Q. What time was she given Vistaril?

14 A. She was given Vistaril at 11:50.

15 Q. And that. was for pain?

16 A. Excuse me?

17 Q. What was that for?

18 A. It's a calming influence.

19 Q. Bow much was she given?

20 A. 50 milligrams.

21 Q. Did that calm her?

22 A. Yeah. Yes.

23 THE WITNESS: Excuse me. Can we
24 go off the record for a second?

25 MR. KAMPINSKI: Sure.

1 (Discussion had off the record.)

2 - - - - -

3 ~ ~ - ~

4 Q. Should a pediatrician have been notified by the
5 nurses of a potential. resuscitation that had to be
6 done?

7 A. At what point.?

8 Q. Well, at any point?

9 A. At any point?

10 Q. Yes.

11 A. No. Not at any point, no,

12 Q. Had membranes been ruptured on Miss Aumen at any
13 time that evening, would meconium have been found?

14 A. I don't know. We have no indication that there
15 would have been, but there might have been.

16 Q. Is that because you don't **know** when the meconium
17 formed?

18 A. Right.

19 Q. The fact 'chat there were tracings earlier in the
20 evening prior to the late deceleration that were
21 normal, would that be reassuring for you as a
22 physician in terms of the health of this child?

23 A. Reassuring, yes.

24 Q. Do you have any opinion, Doctor, as to when the
25 brain damage occurred in this baby?

1 **a.** None.

2 Q. Can bradycardia cause brain damage?

3 A. No.

4 Q. What is bradycardia?

5 A. The slowing of the heart beat.

6 Q. What happens when the heart slows? What happens
7 when the heart slows?

8 A. The vagus nerve is stimulated because the
9 parasympathetic nervous system protects the baby by
10 reducing the amount of requirements for his
11 oxygenation.

12 Q. Does that affect the oxygenation to the brain?

13 A. Yes, to the extent it's a signal to the brain,
14 the same way if you're running that your heartbeat
15 goes faster because that's the way your brain is
16 protected. Yes,

17 **8.** So it does decrease oxygenation to the brain
E8 then?

19 A. No. What it does -- no. What it: does, it
20 decreases the requirements of the heart and of the
21 brain.

22 Q. So the brain doesn't need as much oxygen when
23 your heart is beating slow?

24 A. It's a teleological method,

25 Q. Teleological?

1 A. Yes. This is a method that an animal uses to
2 protect itself. It may obviously if it's continued
3 be ominous and dangerous for it, but one episode is
4 not.

5 Q. How long does it have to occur before it becomes
6 ominous and dangerous?

7 A. We really don't know, but obviously if we see
8 a bradycardia that goes ten minutes, ten minutes, that
9 has an ominous aspect to it.

10 Q. So the response then to bradycardia can in fact
11 cause brain damage?

12 A. Yes.

13 Q. Did you also review Nurse Rado's deposition?

14 a. I believe so.

15 Q. Why don't you take a look?

16 A. I don't know who this was. I don't know which
17 one this was. I'm trying to find the name on it.
18 This was Norma Lance and this one was Loftus, Lance,
19 this is I guess Slagle. No. This is Slagle. Stalfa,
20 is that what you said? No. What did you say?

21 Q. Rado?

22 A. Rado?

23 MR. AUGHENBAUGH: I don't think
24 I sent you that, Dr. Post.

25 A. Okay. Thank you. No, I didn't.

1 MR. KAMPINSKI: As long as you're
2 helping out, maybe you could help us out in terms of
3 when he got the policies and procedures.

4 MR. AUGHENBAUGH: That I'm not sure
5 of, either. I'm not clear. It was obviously after it
6 was brought up by one of your experts. I'm not sure
7 which one, but I can't tell you because --

8 MR. KAMPINSKI: Was it since
9 Friday, last Friday?

10 MR. AUGHENBAUGH: No. When the
11 depositions of your experts came through, we put that
12 stuff together and sent it out. I know you think
13 that's fun --

14 MR. KAMPINSKI: I'm sorry?

95 MR. AUGHENBAUGH: I said I know
16 you think that's fun, but I think it went with
17 the depositions of Rado and -- I'm sorry -- the
18 deposition of Edelberg to Dr. Post after we got the
19 transcript.

20 BY MR. KAMPINSKI:

21 Q. Doctor, you refer a number of times to there
22 being two decelerations, two late decelerations; which
23 two were you referring to?

24 a. 49517. Those are the two.

25 Q. You just told me one.

1 A. No.

2 MR. MELLINO: There's **two** on
3 that panel.

4 MR. KAMPINSKI: I see.

5 Q. There's two of them on that?

6 A. Right.

7 Q. I apologize if this is all repetitive, but
8 I want to make sure there is no confusion in my mind
9 as to your opinion.

10 The lack of variability, okay., that
11 you've described for us following the late
12 decelerations is not significant to you because of the
13 fact that she was given Vistaril?

14 A. They not only were after the late deceleration,
15 **they** were before late deceleration,

16 Q She had decreased variability before?

17 A. Yes, and they came as a direct -- as a **result** of
18 the Vistaril.

19 Q. What panels are those, Doctor?

20 A. This is 49515.

21 Q. How do you define "Lack of variability"?

22 A. Anything less than three to five beats per
23 minute,

24 Q. Where do you see khat on 49515?

25 A. No. That's -- that's when she **got** the Vistaril.

1 Q. Yes,

2 A. Oh, it doesn't occur immediately. It's thought
3 to occur right after the Vistaril, it has to be
4 absorbed.

5 Q. You said she had it before the Late decels?

6 A, Yes, late decels on 49517.

7 Q. Where does the decrease --

8 A. Where does the decreased variability start?

9 Q. Yes.

10 A, Somewhere in here between 49515 and 516.

11 Q. Between 49515 and 49516 you see decreased
12 variability?

13 A. Yes,

14 Q Which parts of those panels are you looking at
15 there, Doctor?

16 A. Excuse me. Which parts?

17 Q. Yes, sir,

18 A. The fetal monitoring results,

19 Q. I understand. But we can do it in minutes,
20 we can do it anyway you want so that you can cite
21 specifically what parts of the panels you're looking
22 at.

23 A. Okay. 23:53, 54, 55, starting around 55, 56.

24 Q. 23:55 and 56?

25 A. Yes.

1 Q. Well, why did she continue to have decreased
2 variability, Doctor, if this **was** due to the Vistaril?

3 A. She did have continued.

4 Q. I mean at 23:57, 23:58, 23:59, if that was?

5 A. Wait a minute, 23?

6 Q. I'm talking about the times.

7 A. Okay.

8 Q. You told me there was decreased variability at
9 23:55 and 56?

10 A. Yes, and twelve o'clock. I'm sorry. This is
11 all decreased variability from twelve o'clock to
12 12:40.

13 Q. I'm listening, Doctor, Please don't laugh at
14 me. I'm very fragile.

15 a. I know, 12:49 -- no. 12:48 there's some
16 decrease. Now she starts getting some aeccelesations
17 back.

18 Q. Then the decreased variability continued all the
19 way from 23:55 and it continued pretty much
26 continuously then according to your testimony until
21 12:45, correct?

22 A. Yes.

23 Q. Non-stop?

24 A. Non-stop?

25 Q. Well, I mean pretty much continuously throughout

1 that period of time,

2 a. Yes.

3 Q. And that's your testimony under oath based on
4 your careful review of this record?

5 A. Yes.

6 MR. KAMPINSKI: That's all I have.

7 MR. AUGHENBAUGH: Do you want to
8 know the names of the lawyers?

9 MR. KAMPINSKI: I do. You got
10 thase?

11 THE WITNESS: Sure. Can I take
12 these and yet them copied?

E3 MR. KAMPINSKI: My suggestion is
14 give it to court reporter and attach it to the
15 transcript, please, and you'll yet your transcript
16 back with the exhibits attached,

17 THE WITNESS: Fine. I'll send
18 it to the Smithsonian Institute.

I9 MR. AUGHENBAUGH: I assume you're
20 going to order a copy?

21 MR. KAMPINSKI: Yes.

22 MR. AUGHENBAUGH: We'd like a copy,
23 please, complete with exhibits.

24 - - - - -

25 (Discussion had off the record,)

1 THE WITNESS: She's making up
2 names.

3 MR. KAMPINSKI: What's that? On
4 the record. Say that again.

5 THE WITNESS: We're off the
6 record. We're not finished?

7 MR. KAMPINSKI: We may have just a
8 couple more questions and that's it. We'll get out of
9 here.

E6

- - - - -

11

(Discussion had off the record,)

12

- - - - -

13 MR. KAMPINSKI: That's all I have.

14 You have a right to waive your
15 signature, you have a right to read your testimony;
16 your attorney can advise.

17 MR. AUGHENBAUGH: Do you want to
18 read it?

19 THE WITNESS: Yeah. I'd like to
20 read it.

21 MR. AUGHENBAUGH: Submit the
22 transcript to him, please, with his original exhibits
23 on it.

24 MR. KAMPINSKI: I don't: have a
25 problem with his seven-day requirement,

1 Write it up, give me a copy because a
2 lot of times they will send you a letter. Just send
3 it to him. He'll yet it back quickly.

4
5
6 - - - - -

7
8 (Deposition concluded; signature not waived,)

9
10 - - - - -
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ERRATA-SHEET

PAGE:

LINE:

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I have read the foregoing transcript
and the same is true and accurate.

STANLEY POST, M.D.

1 The State of Ohio, :


2 County of Cuyahoga.:

~~CERTIFICATE:~~

3 I, Jacquelyn Hellwig, Registered Professional
4 Reporter, Notary Public within and for the State of
5 Ohio, do hereby certify that the within named witness,
6 STANLEY POST, M.D., was by me first duly sworn to
7 testify the truth in the cause aforesaid; that the
8 testimony then given was reduced by me to stenotypy in
9 the presence of said witness, subsequently transcribed
E0 onto a computer under my direction, and that the
11 foregoing is a true and correct transcript of the
12 testimony so given as aforesaid.

13 I do further certify that this deposition was
14 taken at the time and place as specified in the
15 foregoing caption, and that I am not a relative,
16 counsel, or attorney of either party, or otherwise
17 interested in the outcome of this action,

18 IN WITNESS WHEREOF, I have hereunto set my hand
19 and affixed my seal of office at Cleveland, Ohio, this
20 21st day of September, 1992.

21
22 

23 Jacquelyn Hellwig, Registered Professional Reporter,
24 Notary Public/State of Ohio.

25 Commission expiration: 10-24-95.

THE STATE of OHIO, :
: SS:
COUNTY of ASHLAND. :
- - - - -

IN THE COURT OF COMMON PLEAS

- - - - -
MICHAEL SPARR, et al., :
plaintiffs, :
vs. : Case No. 34518.
: Judge Robert E. Henderson
SAMARITAN HOSPITAL, et al., :
defendants. :
- - - - -

Deposition of STANLEY POST, M.D., a witness
herein, called by the plaintiffs for the purpose of
cross-examination, pursuant to the Ohio Rules of Civil
Procedure, taken before Jacquelyn Hellwig, a
Registered Professional Reporter, a Notary Public
within and for the State of Ohio, at the offices of
Stanley Post, M.D., 26300 Euclid Avenue, Euclid,
Ohio, on Tuesday, the 15th day of September, 1992,
commencing at 11:30 a.m., pursuant to agreement of
counsel.



FLOWERS & VERSAGI

COURT REPORTERS
Coinputerized Transcription
Computerized Litigation Support
THE 113 SAINT CLAIR BUILDING - SUITE 505
CLEVELAND, OHIO 44114-1273
(216) 771-8018
1-800-837-DEPO


ERRATA SHEET

PAGE:

LINE:

DR. Seesper w DR. Zuspan
=

I have read the foregoing transcript
and the same is true and accurate.



STANLEY POST, M.D.

Summary of Dr Edelby deposition

— By any medical criteria.

Patient did not have preeclampsia
(Pregnancy induced hypertension)

— 41 wks of gestation especially
with a recent "reactive non stress test" is not ~~high risk~~
or ominous

— loss of variability on fetal monitor
after Ultral is expected Not ominous

— DR. E. fails to note nurse notes
concerning Patient "Screening"; difficult to control
+ fleshy - surely we have all had
the experience of the occasional patient in labor,
ex 21 y old single patient in first labor

— What delay in delivery ???

What tachycardia is DR. E. referring to

— Also what is wondering baseline.

What reason does Dr. E. feel that

Proximal delivery would have delayed progress.

Brady Cardia at 1:23

Delivery occurred at 1:57 within 30 mins
of C-section if done immediately.

S. Pan. Aumer

①

Spem V8 Samaritan Hosp (Augenburgh)

Dyspnea: Stage 100.

Family Practice during OB-Gyn

Pediatrics Dr. Murthi

15-20 del./yr.

Ede entered July 14

ultrasound 4/7 26 1/2 weeks

MST 7/15/86 7/22/86

Murthi ended at 22:15

11 deep post Ede is not 'post term'

12th
Aur

Panel 49518

Monitor off 12⁰⁵ - 12³⁵

Doppler 120 AM

Murthi notes bed numb

Pg 66. NO Notification missing EFH 117

DR's discussion pg 72. signature

Possible Notification EFH 117 is hizane!

Del. 1:57

Murthi notes 13¹⁵ - 53^{PM}

PH 7.07

P_aCO₂ 41.3 MmHg

PAO₂ - 29. (6)

- 16 Base

Bicarb. 11.7

DEPOSITION
EXHIBIT

9-15-92

Post

B

See to
"Blow Aumer"

(2)

18 min to room in tube.

↳ without air way not to feel

Food of meat thick etc

11 Broken clavicle - hip luxation

Meatball replaced at 1⁵⁶

Tube removed ⁵¹⁰⁰ 3.5, 3.0. then 2.5

E delberg depositari

Used to be at Metro Hosp of Cleveland

Problem 2 Clavicle Staff.

↳ "Swapped the ball"

Page 11-

ERROR pt. test 42+ weeks -

B.P. not understanding P.I.H.

? Tacy cardiac. + ↓ variability

"clavicle is moving" (ominous?)

Tracy is poor

FM. 8 8 - 156.

Been + believe removed 4 minutes ago

Not pre release

FM. 160. Not used

I M decided ~~late~~ late death

Not necessary to call (Data)

DEPOSITION
EXHIBIT

9-15-92

Post

C

(3)

Monitor off 23:10 . 23:53

49515

↓ ^UUterus? DRG

Q. 19.

160 is not true

question of multi ~~tree~~ ^{tree} lot deep
is not significant unless unless
repetitive

2 late deep - not then to end

DR

124

to ready cutting & recovery

Post DATUM? Pre ~~recovery~~

Description not fitting the case

Reviewed DR. S + DR. E. deposited

called Mr. Augenbaugh 8/24/92 to give Review
+ decided to dictate . 3MS

DEPOSITION
EXHIBIT

9-15-92
Post D

(4)

Span. - Michael James.
discharge summary

Children Hosp

Ap Gu 3+5 (1+5m)
initiated at 19 m.

pH. metabolic Acid.

last pH. 7.31, p.CO₂ 29 P O₂ 77 H₂O 14.3
initiated 7.07 H₂O 10.2

Baby Pure.

Start Amp. + Gent.

"Meconium Aspiration"

Twitchy + irritability - Early convulsions

Children Hosp 6hr. + 13m.

WT 15 65 15
o/. 15 65 15
41 wks Pale

chest. Rt pneumonia

Patchy densities - ~~Meconium~~ Aspiration

CT scans x 2 my

EEG. multiple foci

DEPOSITION
EXHIBIT

9-15-92

Post

E

(h)

Petal Murthy

7/22/86 - good reception & PM.
very well documented

7/25/86

START 2150 BP. 134/80 (None

1 em. - 1.

good AP. No deed

2306 ✓ No ^{Suppression} ~~Suppression~~ what to see

of any abnormality - No reason Not to
give permission. "good results were recorded.

VISTreal 50 y at 1109

Subsequent ↓ Ventricle is expected
lost center at 12⁴⁰ AM approx

4.5 am. ~~Dist~~ Si found on side

O₂ - 0110 None F+I needed a ~~check~~

0124 Heart to 88

0130 " 126

140. 156

No time to Cate

OR. ^{McGinn} ~~McGinn~~ McGinn

DEPOSITION
EXHIBIT

9-15-92
Post F

(b)

LaBov Reed

Se. BP 7 140/90 - not unusual especially
on admission

0115 Starting to perspire

absolutely normal or as

BP in recovery room

DEPOSITION
EXHIBIT

9-15-92

Post

6

BYLAWS OF ME STAFF

Page _____

Samaritan Hospital

Hosp.:

City Ashland, Ohio

State _____

Adopted by the Staff 12/16/77

Approved by the Board of Directors

12/28/77

RULES AND REGULATIONS FOR FETAL MONITORING

GOALS

1. Identify and treat the fetus at risk.
2. Decrease fetal and maternal morbidity and mortality.
3. Use for Augmentation and Induction of Labor.

RESPONSIBILITY

1. Attending physician makes the decision to use Fetal Monitoring as a voluntary basis except induction and augmentation for which it is required.
2. Attending physician gives the order to the R. N. for monitoring the patient. After R. N. obtains the order they do indirect monitoring and report to the physician any abnormal monitoring pattern such as late deceleration, variable deceleration, bradycardia and tachycardia, etc.
3. Only physician is allowed to initiate direct monitoring.
4. Priority of monitoring - If there is more than one patient in Labor, monitoring should be used for the more high-risk patient and the R. N. should consult both physicians and let the physicians make the decision depending on the risk factor.

These patients should be considered for continuous monitoring of fetal heart rate and uterine activity during labor - also have priority to have monitoring.

OBSTETRICAL HISTORY FACTOR

1. Age over 35 or under 16 years.
2. Diabetes
3. Chronic Hypertension and toxemia
4. Cardiac Disease
5. RH Sensitization
6. Sickle Cell Disease or Trait
7. Previous C-Section

PRENATAL AND EARLY INTRAPARTUM FACTORS

1. Anemia under 11hgb, 32hct.
2. Post-term - over 42 weeks gestation
3. Polyhydramnios
4. Clinical evidency of intrauterine growth retardation.

DEPOSITION
EXHIBIT

9/15/92

Pink

LI

5. Vaginal Bleeding
6. Abnormal Fetal-Placental tests
7. Induction of labor
8. Premature rupture of membranes
9. Premature Labor
10. Meconium stained fluid
11. Abnormal fetal heart tone by auscultation
12. Twins
13. Pyelonephritis

DEVELOPING INTRAPARTUM FACTORS

LABOR RISK FACTORS

1. Prolonged latent phase
2. Dysfunctional Labor
3. Secondary arrest of cervical dilatation
4. Prolonged second stage
5. Augmentation of Labor
6. Meconium passage
7. Amnionitis
8. Tachycardia by Auscultation
9. Abruptio
10. Previa
11. Bleeding of unknown cause

STORAGE OF MONITOR TRACINGS

The monitor tracing should be considered a portion of patient's record. Rec should include patient's name, Hospital No. and data and time of admission delivery. Pertinent data such as examinations, changes in position of patient and medications should be recorded.

Chongkuta K. Dheenan

Approved 12/16/77
Dr. C. K. Dheenan
President, Medical Staff

Gladys H. Thomas

Gladys Thomas
Secretary, board of trustees

**SAMARITAN HOSPITAL
OBSTETRICAL UNIT**

FETAL HEART MONITORING POLICY

The Fetal Heart Monitor is kept in the Labor Room area.

I. Indirect Fetal Heart Monitoring:

A. Indirect fetal monitoring **may** be started by the nurse on patients in labor with a physician's order. It should be left on **at least** long enough to establish a baseline. It should be continued on the patient who shows any deviation from normal pattern such as late deceleration, or any **questionable** contraction pattern. **The physician** should be notified immediately should this occur.

B. For priority use, refer to Rules and Regulations on preceding page.

II. Direct Fetal Monitoring:

The internal electrodes must be applied under sterile conditions by the physician,

A. Chart on the Nurses Notes when the **fetal** monitoring is begun and when it **is** discontinued. **Also** write on the delivery Room Record when **Direct** monitoring so that the Nursery copy **will** alert personnel to observe the baby's scalp at the site of the clip insertions.

B. When fetal heart monitoring is **begun**, mark whether "Direct" or "Indirect" on the tracing. **When Indirect** monitoring is changed to **Direct**, write on the tracing, at the point the change is made, "Internal Monitoring Begun".

C. When monitoring is discontinued, identify with addressograph, apply appropriate stickers, and mark tracing with **Delivery** time, sex of baby, and baby's weight.

Origin: 5/3/1978 By: H. Strine, RN-Ob
Reviewed: 10/80, 7/30/81 By: H. Strine, RN-Ob
Reviewed: 9/82, 4/84 By: H. Strine, RN-Ob
Reviewed: 4/16/86, 4/87 By: L. Loftis, RN/H.S.

BYLAWS OF THE STAFF

Page _____

SAMARITAN

Hospital

City ASHLAND

State OHIO

Adopted by the Staff June 17, 1977

Revised: 1/23/86 By: Dr. Lee, Chr. -OB. Dr.

Approved by the Board of Directors August 23, 1977

Approved:

I.V. PITOCIN POLICY

Policy for Medical Induction and Augmentation of Labor

1. Utilization of an Infusion Pump to control the rate of administration of I.V. Oxytocin with continuous electronic fetal monitoring throughout the entire period of Oxytocin administration.
2. The patient must have been seen by her physician within the last 24 hours before induction; and within four hours before the augmentation before starting I.V. Pitocin.
3. When the physician does not have the privileges to manage complication resulting from Oxytocic agent, consultation with Obstetrician must be obtained.
4. The I.V. Oxytocin rate should not exceed 30 mu/min. for induction, and 10 mu/min for augmentation.
5. Once Oxytocin has been started, a responsible physician should be present in the labor area during the first 20 minutes; thereafter, should be accessible in 10 minutes for the management of any complications.
6. In the Emergency situation, such as Hyperstimulation, Abruptio, Fetal Distress, nursing personnel:
 - a. Turn the infusion pump off.
 - b. Pull the whole Pitocin administration set with its needle from main I.V.
 - c. Disconnect the I.V. tube from the main I.V. needle and flush the tube and reconnect the tube to needle.
7. Qualified personnel familiar with the effects of Oxytocic agents and able to identify both maternal and fetal complications, should be in attendance while Oxytocic agents are being administered.

Dr. R. B. Davis

President, Medical Staff

Mr. Gene Yeater

Secretary, Board of Trustees

(Board approval not necessary per CEO)

Dr. J. S. Lee, Chairman

O.B./Gyn Department

Revised: 9/1986 By:

10

STANLEY POST, M.D. DEPOSITION INDEX

- 6). Promulgated rules and regs and procedures at Booth
- 7). Committee would review and insure their accuracy
- 8). Something like late decelerations would be set out specifically so they couldn't be misinterpreted.
They are proper standard of care
- 10). Requirement that obstetrical nurse be able to read monitor strip and be able to recognize late deceleration
- 12). Probably a later deceleration on strip, may have been a second one
- 13). Both decels recovered
- 14). Whether she's married or single affects his opinion
- 15). Monitor should have been put back on if it could have
- 16). Policies and Procedures were not given to him
- 17). Had policy on floor in his office, doesn't know when he got it
- 19). Bylaws not important to him
- 20). Williams, Danforth are authoritative
There is a correlation between hypoxia and cerebral palsy
Late decels are not an indication for early delivery
Doesn't agree that its appropriate to rupture membranes to check if there is meconium present and place internal lead with abnormal strip
- 21). Brady is decrease below 100 for 3-10 minutes
would be nice to have a ped.
- 22). Zuspan **is** authoritative on hypertension
- 23). He would ignore elevated bp if all others normal
Always take the lowest one
- 25). Even if all elevated and one normal would take normal one and ignore elevated ones
Teaches nurses to look for: Baseline, Tachy, Variability, late, variable and early decels
- 26). If nurse cannot read monitor she should not be doing the job
- 30). Doesn't think Slagle did anything wrong

- 31). Slagle's testimony re: bedpan is "silly"
- 32). Slagle believes he should have been notified so therefore
he should have been
Can agree with not calling him too
- 35). Slagle should have been notified of severe drop in heart rate
- 36). If Slagle feels he should have been told of inability to
receive good readings then he should have been told
Fetal Heart Monitor was fine, exemplary
- 38). Can read monitor from 12:05 to 12:35
- 39). Strip readable until 12:45
- 40). Panels 49517, ~~49516~~ ⁴⁹⁵¹⁴ have late decels
- 45). Doppler doesn't tell the rate after a contraction
Vistaril affects heart for 30 minutes
- 48). Edelberg's concern over HR dropping to 117 is "Bizarre"
- 53). Hospital Policy was deviated from
Policy interprets what abnormal pattern is
- 54). Pt was 10 days past due date
If she was PH she required close observation and
continuous EFM because also post dates
No tachycardia
- 55). Decreased variability from midnight to 12:45
- 56). Pediatrician should not have been notified
- 57). No opinion on timing of brain damage
- 58). Bradycardia that last 10 minutes has an ominous aspect
to it. Can cause brain damage
- 62). Decreased variability from twelve o'clock to 12:40
decreased variability continued from 23:55 to 12:45 non-stop