

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

JUNE M. HAYES, et al.,)
)
 Plaintiffs,)
) CASE NO.: 383210
 vs.) JUDGE ANTHONY O. CALABRESE, JR.
)
 JUDSON RETIREMENT COMMUNITY,)
 et al.,)
)
 Defendants.)

The deposition of NEAL WAYNE PERSKY, M.D. , M.P.H., taken pursuant to Notice in the above-entitled cause, at 623 West Huron, in the City of Ann Arbor, Michigan, on Wednesday, October 4, 2000, commencing at or about 2:02 p.m., before Vicki L. Rodriguez, B.S., M.Ed., CSR, a Notary Public in and for the County of Washtenaw, Michigan.

APPEARANCES:

BECKER & MISHKIND, CO., L.P.A.
By: Jeanne M. Tosti
Skylight Office Tower
1660 West 2nd Street, Suite 660
Cleveland, Ohio 44113
(216) 241-2600

Appearing on behalf of the Plaintiffs.

(Appearances continued on page 2.)

APPEARANCES (Continued) :

2 DAVIS & YOUNG, L.P.A.

3 By: Jan L. Roller

4 1700 Midland Building

5 101 Prospect Avenue, West

6 Cleveland, Ohio 44115-1027

7 (216) 348-1700

8
9 Appearing on behalf of the Defendant Larry Irvin,
10 M. D.

11 SLATER & ZURZ, L.P.A.

12 By: Bruce S. Goldstein

13 One Cascade Plaza, Suite 2210

14 Akron, Ohio 44308-1135

15 (330) 762-0700

16
17 Appearing on behalf of the Defendant Judson
18 Retirement Community.
19
20
21
22
23
24
25

I N D E X

WITNESS

PAGE

NEAL WAYNE PERSKY, M.D., M.P.H.

Examination by Ms. Roller. 4

Examination by Mr. Goldstein 72

Re-Examination by Ms. Roller 101

E X H I B I T S

MARKED

Deposition Exhibit A 5
(Curriculum Vitae)Deposition Exhibit B 5
(Letter Dated 5-23-00 to Jeanne Tosti)Deposition Exhibit C 54
(Vital Statistics of the United States 1993)Deposition Exhibit D 102
(Dr. Persky's Handwritten Notes)

1 Ann Arbor, Michigan
2 Wednesday, October 4, 2000
3 At **or** about 2:02 p.m.

4 - - -

5 N E A L W A Y N E P E R S K Y , M.D., M.P.H.,
6 a Witness herein, was **first** duly sworn by the Notary
7 Public to **tell** the truth, the whole **truth** and nothing but
8 the truth, testified **a5** follows:

9 MS. ROLLER: Dr. **Persky**, my name is Jan Roller.
10 I represent one of the Defendants in this case,
11 Dr. Larry Irvin.

12 We're here to take your discovery deposition
13 **for** the **case** that the Hayes family **has** brought.

14 EXAMINATION

15 BY MS. ROLLER:

16 Q. **First** let me get some basic information down. Would you
17 state your full name.

18 A. Neal Wayne Persky.

19 Q. And Neal is N-E-A-L?

20 A. Correct.

21 Q. What **is** your date of birth?

22 A. 04-05-56.

23 Q. And where do you live?

24 A. In Ann Arbor, Michigan.

25 Q. What is your current -- what is your occupation?

1 A. I'm a physician in geriatric medicine and internal
2 medicine at the University of Michigan.

3 Q. And how long have you been at the University of Michigan?

4 A, About fifteen years.

5 MS. ROLLER: You were kind enough to produce a
6 Curriculum Vitae for us. I'd like that marked as Exhibit
7 A. And while we're marking I'll have your report marked
8 as Exhibit B.

9 (Whereupon Deposition Exhibits A and B were
10 marked for identification by the Notary Public
11 and are attached.)

12 BY MS. ROLLER, CONTINUING:

13 Q. Dr. Persky, as you can see, we've marked the copy of your
14 Curriculum Vitae as Exhibit A, correct?

15 A. Yes.

16 Q. You have a copy of it in front of you?

17 A. Correct.

18 Q. I want to just briefly walk through it. You received
19 your medical degree from what institution?

20 A. University of Illinois.

21 Q. And in what year? I'm not finding it.

22 MR. GOLDSTEIN: 1981.

23 THE WITNESS: **Yes**, in 1981.

24 BY MS. ROLLER, CONTINUING:

25 Q. Okay. I see. All right. And then you spent some time

in Colorado.

2 A. Correct.

3 Q. Your residency and internships were spent there. And you
4 did a clinical fellowship in geriatric medicine here at
5 the University of Michigan from '84 to '86; is that
6 right?

7 A. Correct.

8 Q. And I guess that does account for fifteen years at that
9 period of time.

10 You have academic appointments as well as I can
11 see here on your Curriculum Vitae, but can you just
12 describe for me currently what your academic appointment
13 is?

14 A. Sure. I'm a clinical instructor in the Department of
15 Internal Medicine at the University of Michigan. Do you
16 want an outline of my responsibilities?

17 Q. Yes, why don't you.

18 A. I'm Medical Director of the Turner Geriatric Clinic. I'm
19 Associate Director of the University of Michigan
20 Geriatric Center. I'm an attending physician at several
21 of our health care facilities. And I have teaching and
22 administrative and research responsibilities related to
23 those roles.

24 Q. Can you maybe describe for me the percentage of your time
25 that's spent in those various roles: teaching, clinical,

1 time with patients, research, et cetera?

2 A. Sure. I spend a little more than half of my time in
3 clinical activities, probably three-quarters of the
4 remainder in administrative capacities, and the other
5 remainder in research and teaching and miscellaneous
6 kinds of things.

7 It's hard to separate some of these activities
8 from the others, because they sometimes come together.
9 For example, clinical teaching is often done at the
10 bedside or in the outpatient clinics and things like
11 that.

12 Q. Do you have actual classroom time that you spend?

13 A. I do.

14 Q. Currently what is that?

15 A. My classroom time is probably around an hour a week.

16 Q. And what are you teaching?

17 A. I teach a variety of topics depending on the
18 circumstance. But some of the things I teach have to do
19 with interdisciplinary assessment and treatment of older
20 patients, long-term care, case management. Some of the
21 teaching is related to specific geriatric types of
22 problems such as dementia, bedsores, preventive health
23 care, congestive heart failure, hypertension,
24 hyperlipidemia testing, diabetes, et cetera.

25 Q. You have done some writing as indicated on your C.V. Is

this current with respect to any publications you have?

2 a. Probably so. I see here that the copy of my Curriculum
3 Vitae that was provided is more than a year old. And
4 there may be some limited additions to this, but I think
5 it's substantially current.

6 Q. Is there anything with respect to yours writings that you
7 feel is particularly relevant to the issues presented in
8 this case?

9 A. Well that's a broad question. But if I understand the
10 nature of it, certainly the aspect related to
11 coordination of care and interdisciplinary practice would
12 be relevant.

13 Q. Which item is that that you're referring to?

14 A. Oh, there are a couple I suppose. I was just looking at
15 the Abstract number ten which related to
16 interdisciplinary training.

17 Q. And what do you mean by that?

18 A. By interdisciplinary training?

19 Q. Yes.

20 A. Well it refers to defining the role of different
21 disciplines in assessing and treating older patients and
22 coordinating the team over time.

23 Q. And how do you see that issue as relevant to this case?

24 A. As I say, I was thinking of your question in broad terms.
25 But coordination of different disciplines in a nursing

1 home is important so that things don't fall through the
2 cracks for example.

3 Q. And is it your thought that that's what occurred here?

4 A. Well that's certainly a relevant issue I'm sure we'll
5 talk about. For example, if a test is ordered and
6 different personnel are involved in carrying out that
7 order or making sure that it did and didn't happen and so
8 forth, that is an issue of coordination of care,
9 communication, and team management.

10 Q. Mr. Hayes, William Hayes, was not a patient of yours at
11 any time, correct?

12 A. Correct.

13 Q. And you were asked to review this matter for medicolegal
14 purposes, correct?

15 A. Yes.

16 Q. And who is it that contacted you for that purpose?

17 A. I believe it was Miss Tosti or someone in her office.

18 Q. And do you have a file that *you* brought with you today
19 regarding this case?

20 A. Yes.

21 Q. Could I see that, please?

22 A. (Indicating.)

23 Q. All right,. You're showing me a black binder; is that
24 correct?

25 A. Yeah.

1 Q. And can you just tell me what's contained in here? I see
2 that it is labeled. Is it basically medical records and
3 some deposition transcripts?

4 A. That's correct.

5 Q. Do you also have a folder with any correspondence?

6 A. I have some loose correspondence --

7 Q. Okay.

8 a. -- that is all from **Miss** Tosti.

9 Q. Could I take a look at that also?

10 A. It's okay with me.

11 Q. And I'm sure if it's not with Jeanne we'll hear about
12 that.

13 What you handed me, Dr. Persky, are basically
14 four letters from Ms. Tosti dated January 14th, 2000,
15 Play 3rd, 2000, August 30th, 2000, and
16 September 6th, 2000, correct?

17 A. I believe you.

18 Q. The material that you've reviewed for your knowledge of
19 this particular case, is it all contained in this binder
20 that's here on the table?

21 A. All the medical records and depositions that I've
22 reviewed are here, and that's the basis for the opinions
23 that I'll have to offer.

24 Q. Did you review anything **else for** purposes of preparing
25 your opinions in this matter?

1 A. Well, no, not for preparing my opinion, but there were
2 some materials that had been provided to me that had been
3 described as attorney work product that Miss Tosti has I
4 believe.

5 Q. That you looked at?

6 A. Yes.

7 Q. And when did you look at that material?

8 A. I looked at them in the course of my review.

9 MS. TOSTI: He may be referring to questions
10 that I posed to him that I asked for answers to specific
11 issues that I wanted to discuss with him. Those types of
12 things. He hasn't been provided any additional --

13 MS. ROLLER: Medical records.

14 MS. TOSTI: -- medical records or materials.

15 BY MS. ROLLER, CONTINUING:

16 Q. The format of the questions that Miss Tosti just referred
17 to, what was the format? Was it in a letter, a piece of
18 paper, was it written down?

19 A. Yes.

20 Q. And do *you* have a copy of that?

21 A. I don't. I brought them with me.

22 MS. TOSTI: I will volunteer I have removed
23 that from his file as attorney work product.

24 BY MS. ROLLER, CONTINUING:

25 Q. This piece of paper -- first of all, how many pieces of

1 paper are we talking about?

2 A. I don't know the exact number. It was more than one, and
3 there were probably several pieces of paper.

4 Q. And this is information that you looked at prior to the
5 preparation of your report which is dated May 23rd, 2000?

6 A. Yes.

7 Q. And just so that we can identify your report for the
8 record it's been marked Exhibit B; is that correct?

9 A. Yeah.

10 Q. It's a two-page report.

11 MS. ROLLER: I would ask to see that work
12 product, the documents you're calling work product.

13 MS. TUSTI: I will not produce them as I
14 believe they go to my theory of the case, but you may ask
15 the Doctor any questions regarding the issues he was
16 asked to address in this case.

17 BY MS. ROLLER, CONTINUING:

18 Q. Let's go back for- a moment. The materials that you have
19 reviewed, first of all, did you review them all before
20 you prepared your report?

21 A. I believe so. I can't recall exactly when I received
22 each of the depositions. But I have in preparing for
23 today's deposition reviewed all the medical records and
24 all the depositions entailed in conjunction with that
25 review, my report, and am comfortable that the report

1 opinions are still ones that I would offer- to you.

2 Q. The letter of January 14th, 2000, that Miss Tosti sent
3 you lists eight items that she is sending to you. Do you
4 see that there?

5 A. I do.

6 Q. And did you review each of those?

7 A. Yes. I believe all of these are contained and tabbed in
8 that black folder that you have.

9 Q. Great. And just if I can read it into the record rather
10 than going through each of these. That means that you
11 reviewed the records of Dr. Hissa, the records from the
12 Meridian Hillcrest Hospital admission of 11-20-97 to
13 11-27-97, the records from the Judson Retirement
14 Community admission 11-23-97 to 11-27-97, the Cleveland
15 EMS report of 11-27-97, the Cleveland Clinic's emergency
16 department records of 11-27-97, the Cleveland Clinic
17 outpatient records of 1-97 through 10-97, the Cleveland
18 Clinic Foundation autopsy report, and the Death
19 Certificate, correct?

20 A. Correct

21 Q. You also have some deposition transcripts here. And
22 first am I correct that you received the transcripts of
23 Nurse Thill and Nurse Hayes with this letter of
24 May 3rd, 2000, from Jeanne Tosti?

25 A. Yes.

Q. And did you review those transcripts before you wrote
2 your report of August 23rd, 2000?

3 A. I believe so.

4 Q. Now I *see* also among the documents that you have is a
5 deposition transcript of Larry Irvin, M.D., and
6 Elizabeth O'Toole, M.D.; is that correct?

7 A. Yes, that's correct.

8 Q. Have you reviewed --

9 MS. TOSTI: (Indicating.)

10 BY MS. ROLLER, CONTINUING:

11 Q. And Lisa Ann Atkinson, M.D. You have those in your
12 possession as well.

13 A. That's correct.

14 Q. Have you reviewed each of those depositions?

15 A. I have.

16 Q. And when did *you* review them?

17 A. I reviewed them soon after getting them and then again
18 for my deposition preparation today.

19 Q. When did you receive those three transcripts of Doctors
20 Atkinson, Irvin, and O'Toole?

21 A. I'm not sure, but it might be mentioned in one of the
22 cover letters.

23 Q. Okay. Let's see.

24 MS. TOSTI: I will volunteer that after we
25 received them he probably got them within a week or two

1 of receipt from our office. That's the normal procedure.
2 I don't know. We usually just send out a single cover
3 letter and please find the depositions.

4 BY MS. ROLLER, CONTINUING:

5 Q. So that means with respect to Dr. Irvin's testimony when
6 would you have received that? It's stated that the
7 deposition was January 21st, 2000.

8 A. Well I believe I got it before preparing my report. But
9 since my report is probably something we'll talk about
10 and knowing that I've reviewed this in detail just now I
11 would be happy to go through each opinion in the report
12 and elaborate on them.

13 Q. So it's your testimony that to the best of your
14 recollection you received Dr. Irvin's deposition
15 transcript before you prepared your report of
16 May 23rd, 2000.

17 A. Yes, I believe that's correct.

18 Q. So other than the deposition transcripts that we've
19 talked about and the records that we listed that you
20 received as indicated in Miss Tosti's letter to you of
21 January 14th, 2000, have you reviewed anything else
22 regarding this case?

23 A. I have reviewed the materials I mentioned that Miss Tosti
24 has retained due to legal considerations that you
25 understand better than I do.

1 Q. And so that I understand what that was to the best of my
2 ability to do so it was basically questions posed to you,
3 or was it also information given to you?

4 A. **There** were several items some of which were
5 correspondence that included questions related to the
6 clinical care and so forth.

7 And there was a chronology of selected events
8 that I used as an index or table of contents to help me
9 efficiently look through the medical records. But as far
10 as I recall, that included no -- nothing other than
11 references to specific places in the medical records.

12 And I guess it's also important for- you to note
13 that my opinions are based on the medical records and the
14 transcripts rather than anything else.

15 Q. I'm sorry if I asked you this question before, The
16 information that you just referred to that you reviewed
17 with Ms. Tosti which has been described here as work
18 product, did you review that before you prepared your
19 report on May 23rd, 2000?

20 A. You know, I don't know exactly when I got each item or
21 even the total inventory of what those items were, but I
22 believe so. I would expect that a chronology would be
23 provided prior to my review to help me efficiently do
24 that review.

25 Q. Have you ever reviewed a case -- and by "a case" I mean a

1 medicolegal case potentially in litigation. Have you
2 ever done that for the law firm of Becker and Mishkind
3 before this case?

4 A. Not to my recollection.

5 Q. How about since then? Any other case?

6 A. Not to my recollection.

7 Q. So this is the only case that you're aware of that you've
8 reviewed for that law firm?

9 A. I believe so.

10 4. Or any member of that law firm; is that right?

11 A. Correct.

12 Q. Do you know how it is that you were called or how they
13 received your name?

14 A. I don't.

15 (Whereupon a discussion was held off the
16 record.)

17 BY MS. ROLLER, CONTINUING:

18 Q. How frequently do you review a case where the individual
19 involved was not your patient for medicolegal purposes?

20 A. Probably about once a month.

21 Q. And you understood my question to be review a case, not
22 that you ---

23 A. Act as a consultant working with an attorney --

24 Q. Yes.

25 A. -- to offer medical perspectives on a medical record.

1 Q. So you say about once a month you will have a case come
2 into your office for review?

3 A. Right.

4 Q. And of those cases how many do you author written
5 opinions on?

6 A. That I would guess would occur a couple times a year.

7 Q. And "a couple" is what?

8 A. Well "a couple" is usually two, but I'm sure it would
9 vary from year to year. Reports aren't commonly
10 requested in my limited experience.

11 Q. Then how about of the cases that come in approximately
12 once a month how many of those have you been deposed on
13 in a year? And by that I mean a deposition, not a trial
14 but just a deposition.

15 A. I'm guessing and would put it at maybe a quarter of them.

16 Q. Does that mean three times a year?

17 A. Something like khat.

18 Q. And I guess I should be more specific. You said about
19 twelve cases a year you look at. How many of them do you
20 get involved with? In other words, I'm sure you say yea
21 or nay I will be involved with you. I will work on the
22 matter with you giving an opinion that supports the
23 position of the attorney who's requested it. How many
24 times does that occur out of those twelve? Do you
25 understand what I'm asking you?

1 A. I guess I don't.

2 Q. Well when a case comes into the office for you to review,
3 I take it you will give your opinion to that attorney
4 about any medicolegal consequences involved.

5 A. Correct.

6 Q. And sometimes it's --

7 A. Sometimes they like what I have to say and sometimes they
8 don't.

9 Q. And how many times do they like what you say out of the
10 twelve that come in?

11 A. I don't know. I don't assess that. I'm given a case to
12 review, and I'm told what the issues and questions are.
13 I'll review it and offer my opinions.

14 And then if they have further need of my review
15 or have other questions, I'll respond to that. And if
16 they tell me the case is closed or they no longer need
17 any further review on my part, then that's fine.

18 Q. Well then how frequently do you find yourself being
19 involved in more than just an initial review is what I'm
20 trying to understand from you.

21 A. Well it seems like often there will be a file that I'll
22 review, and it may or may not come with depositions. And
23 then some of the time it ends there, and some of the time
24 I'll be sent depositions to review in conjunction with
25 the medical records to see if, you know, that sheds

1 additional light on the questions the attorneys want me
2 to comment on.

3 Are you asking me how often do I get
4 depositions in addition to medical records to review?

5 Q. No. Let me try to get at it a different way. If I asked
6 you how often are you associated with a **case** until it's
7 resolved one way or the other, whether it be settlement
8 or trial, how frequently **does** that occur?

9 A. I don't always know when a case goes to trial or is
10 settled. I suppose if somebody wrote me a letter and
11 said that the matter was settled -- I mean I suppose they
12 could send me a letter like that if they were really
13 trying to say that they didn't like my opinions and they
14 wanted to drop the case or seek another medical expert.
15 I really don't know what's happening at the attorney's
16 end of the process.

17 Q. I know you're not trying to be difficult here, but we're
18 not communicating. What I'm trying to understand is of
19 the twelve cases that come in in a year how frequently
20 with respect to those twelve cases --

21 A. *Yes.*

22 Q. -- are you the retained expert on the case whether the
23 case is tried eventually, whether it's settled
24 eventually, whether it comes to a conclusion and there's
25 no --

1 A. If I understand, maybe I can answer your question in my
2 own terms, and maybe that will do for you.

3 Q. Please do.

4 A. If an attorney sends me a file and I review it and offer
5 my opinion, I don't recall saying "I don't want to be
6 associated with this file" but rather would let the
7 attorneys determine what they need. My opinions are what
8 my opinions are. And they can like them or not like
9 them, and then we go from there.

10 Now I do as a matter of course make sure
11 there's no conflict of interest for me with respect to my
12 role at the University of Michigan. So that might be the
13 kind of thing you're talking about whet-e someone might
14 contact me about a case.

15 I would usually have a brief and formal
16 discussion with the attorney or whoever in the office was
17 contacting me to make sure the clinical issues were
18 within my area of expertise. And if they were, I would
19 then deter-mine whether- there was any conflict of interest
20 for me with respect to the University of Michigan and my
21 role here.

22 And assuming that those two things were okay
23 then they would presumably send me the file for review,
24 and I would give some preliminary opinions. And from
25 that point forward the attorney would determine whether

or not to send me additional materials or at that point
in time to tell me to throw them away.

2
3 Q. And of the twelve that come in a year how many go beyond
4 that point?

5 A. You mean to a deposition?

6 Q. Right, right.

7 A. I said maybe a quarter of them.

8 MS. TOSTI: Objection, asked and answered. He
9 told you he's been deposed about three times a year.

10 BY MS. ROLLER, CONTINUING:

11 Q. Have you ever appeared live in court to testify?

12 A. I did I believe one time.

13 Q. And have you ever had your videotaped testimony taken for
14 use at trial?

15 A. I think I had that happen once also in the ten or fifteen
16 years I've been doing this.

17 Q. And in this matter do you realize that your testimony is
18 being offered in support of -- your report is being
19 offered in support of a plaintiff, a person who is
20 bringing a lawsuit? You understand that?

21 A. Yes, I do.

22 Q. And of the matters where you have given approximately
23 three depositions a year, let's take that group, what
24 percentage of the time is your testimony being offered
25 for a plaintiff as opposed to a defendant?

1 A. Right. I don't know that I can really divide them for
2 the ones that had depositions and didn't. But in terms
3 of my review of files I would estimate that about
4 three-quarters of them are for defense attorneys that are
5 contacting me, and the remainder **are** either ~~for~~ plaintiff
6 attorneys or ones I can't characterize.

7 Q. That's the cases you're asked to review, correct?

8 A. That's right.

9 Q. But with respect to when your testimony is offered for
10 trial, whether it be via videotape or the one time you
11 appeared live, do you know whether the testimony is being
12 offered for the plaintiff or the defense?

13 A. It's hard for me to separate those out specifically. I
14 can recall one circumstance where I gave trial testimony
15 for a plaintiff's attorney and one time where I gave
16 testimony at the request of a defense attorney.

17 Q. ~~Were~~ those the one live appearance at trial?

18 A. Actually what I'm now remembering is that the one live --

19 Q. Appearance.

20 A. -- the one live trial appearance that I can remember was
21 at the request of a defense attorney. But there was one
22 where I gave a telephone deposition which I believe ~~was~~
23 for trial purposes at the request of a plaintiff's
24 attorney.

25 Q. How long is it that *you* have been reviewing one case a

1 month for medicolegal purposes?

2 A. Ten to fifteen years.

3 Q. Now I take it, Dr. Persky, that you have not reviewed or
4 seen the pathology slides taken from any tissue in
5 Mr. Hayes' lungs.

6 A. That's correct.

7 Q. And the appropriate specialist to evaluate that material
8 would be a pathologist?

9 A. Most commonly.

10 Q. If you wanted information about what was on lung tissue,
11 you would refer it to a pathologist for review?

12 A. It would depend on the question, but that would be one
13 common way to do it.

14 Q. For the age and character of an emboli is that the
15 appropriate professional?

16 A. That would be one appropriate professional.

17 Q. Who else would you consider?

18 A. Often a pulmonary physician with an interest in the area
19 most commonly might be able to comment as well, and
20 probably a hematologist who would also be familiar with
21 the clotting system and so forth.

22 Q. What were you asked to do in this case?

23 A. I was asked to review the materials including the medical
24 records and depositions and comment on the standard of
25 care and life expectancy of the patient, and there were

1 various questions that **Miss** Tosti had about the case that
2 she wanted expert review of.

3 Q. And the opinions that you hold in this case, are they
4 contained in your report of May 23rd, 2000?

5 A. Some of them aren't.

6 Q. Well **our** local **rules** require that all of your opinions **be**
7 contained in your report.

8 A. I see.

9 Q. Were you aware of that?

10 A. It's my understanding that this report would be useful to
11 the attorneys in the case. And my opinions do include
12 statements in that **report**, but **it** seems to me that **it**
13 depends on what questions you want to ask **me**.

14 Q. Well let me ask you this. After you authored your
15 report, did attorney Tosti ask you at any time to prepare
16 a supplemental report?

17 A. No.

18 Q. And if you had opinions regarding the subject matter, you
19 would have done so I take **it**?

20 A. If I had opinions that I thought were directly relevant
21 to the issues in the case, I would have most likely added
22 them to the report. I'm just trying to say khat I could
23 **offer** you additional opinions if **you** had other questions.

24 Q. But with respect to what **you** felt were the relevant,
25 significant questions regarding the standard of care and

whether or not. it had been breached in the matter

involving William Hayes, those opinions you put in your
report of May 23rd, 2000; is that correct?

A. I put in the ones that I thought were most relevant to
the case, and I'll be happy to answer any other questions
you have.

Q. I understand you'll be happy to answer any questions I
have within reason, but I want to know what your opinions
are. And as I understand it, when you were asked to
review this case and to indicate what your opinions are
regarding the standard of care and whether or not it had
been breached to Mr. Hayes, you gave your opinion as
stated in your report of May 23rd, 2000; is that correct?

A. I'm going to look at the specific opinions --

Q. Please do.

A. -- and see if there are other important items that we
don't get to here.

So I'm not trying to be difficult, but maybe
you could help to clarify your question for me. So, for
example, related to opinion number seven --

Q. Yes.

A, -- where I describe my opinion that "Failure of the
Judson Retirement Community personnel to schedule the DVT
study as ordered, and to follow-up to ensure its
completion, was below the standard of care." You could

1 break that down into, you know, different personnel and
2 how should it have happened, but there would be a variety
3 of opinions related to that topic.

4 So is it fair to assume that you're not wanting
5 me to describe every nuance of the opinions that are
6 highlighted here on paper?

7 Q. Who are the Judson Retirement Community personnel that
8 you're referring to?

9 A. Well to use this as an example --

10 Q. That's the question, sir.

11 A. -- to use this as an example, they have an obligation to
12 have staff who will schedule the study that was ordered
13 by the doctor, and since that didn't happen then there's
14 a problem at Judson Retirement Community.

15 Now if we discuss who did what and then we
16 could pinpoint perhaps that it was this person or that
17 person, I don't have all that kind of stuff down on
18 paper, and I wouldn't expect to.

19 Q. So, Dr. Persky, that's my question for **you**. With respect
20 to item seven on Exhibit B which is your report of
21 May 23rd, 2000 --

22 A. Yes.

23 Q. -- would you please identify for me the people who you
24 feel failed at the Judson Retirement Center to schedule
25 the DVT --

1 A. Right.

2 Q. -- and who, therefore, acted below the standard of care?

3 A. Sure. Well, first of all, the facility has an obligation
4 to ensure that there is a mechanism for the doctor's
5 orders to be taken off and followed through on.

6 The facility as an entity has a responsibility
7 to ensure that there are safeguards to make sure that
8 that is happening reliably to fulfill the standard of
9 care for the facility and professionals there, and they
10 have some flexibility perhaps as to how they do that. So
11 the facility could make those assignments to a nurse or a
12 clerk and could have the double-checking that everything
13 was happening also assigned to a nurse or clerk. They
14 can divide up the work in a variety of ways in order to
15 achieve the standard of care, and that could vary from
16 facility to facility.

17 So there is an obligation to make sure those
18 things happen. That there are personnel in place to do
19 that. That there are double-checks to do that. That
20 there is ongoing training and education and in-service
21 orientation so that people can do their job and so forth.

22 Q. Okay. From your review of this case --

23 A. Yes.

24 Q. -- other than saying that the facility as an entity has
25 responsibility to do that, have you identified who in

1 your opinion had the direct responsibility to do that, to
2 make sure that the DVT study was done?

3 A. From the depositions it's my understanding that the nurse
4 verified and transcribed the orders and provided written
5 indication of that to the unit clerk on Sunday and that
6 the process **fell** through at that point.

7 So I guess depending on how broadly you think
8 about that process certainly the unit clerk who, you
9 know, failed to do that and/or failed to communicate with
10 the physician and/or clinical personnel in order to alert
11 them to that and perhaps schedule it somewhere else or
12 get on the phone and say, "Well we really need this done,
13 and it needs to be done in this time frame."

14 So those were lapses of care. Violations of
15 the standard of care I guess is the term.

16 Q. So you are clearly identifying the facility as an entity
17 which had that responsibility and then can delegate it to
18 whomever it delegated that responsibility to, but the
19 bottom line is that the facility had the responsibility.

20 A. That is my first comment.

21 Q. I'm asking after that who **else** are you identifying
22 specifically as having the responsibility. You
23 identified the unit **clerk** whose responsibility it was to
24 schedule it after receiving the order from the nurse.

25 A. Right.

Q. Anyone else?

A. Typically there will be -- it will depend on the policies and procedures of the given facility, and I don't know all the policies and procedures of Judson. But typically there will be a clinical person such as a Director of Nursing who will be responsible for making sure that all the staff know the steps to take in order to carry out the order, the steps to take to deal with situations such as not being able to schedule the test as ordered, and there will be someone responsible for communicating with the clinicians as I described earlier.

So there's a failure to perform the scheduling; there's a failure to seek alternative ways to do it; a failure to communicate with the staff; a failure to provide adequate training and supervision and quality assurance in terms of making sure that the staff are prepared, that the test was scheduled, and that the double-checking that the test was scheduled actually was in place and operating effectively.

Q. That double-checking, what do you see as a mechanism that you would describe as double-checking to make sure that a test like that would happen and wouldn't be missed?

A. We've talked about the procedures whereby a unit clerk would schedule the test as ordered and the response that they or affiliated personnel should have if they can't

1 schedule a test.

2 In addition to that it would be likely that
3 they would have policies and procedures to check that
4 ordered test did happen. That would be the
5 responsibility of clinical personnel rather than the unit
6 clerk.

7 Q. And the clinical personnel that you've given --

8 A. Like a nurse or the Director of Nursing ultimately.

9 Q. Like a checklist. Is that what you're referring to?

10 A. It could be a checklist. For example, transcribing the
11 physician's order to have the DVT test. could well trigger
12 putting that on a treatment sheet which would have the
13 correct date indicated. And then if that didn't happen
14 on the day it was supposed to, then that would be in
15 someone's face who could then query as to why it didn't
16 happen.

17 Q. You've identified the facility itself and then
18 specifically a unit clerk, a clinical person at the
19 facility such as a Director of Nursing. Anybody else
20 specifically?

21 A. And probably the charge nurse on the unit would have a
22 responsibility for that double-checking that the test
23 happened as well as orienting, training the unit clerk,
24 and ensuring that quality assurance things were happening
25 locally at the unit.

1 Of course, that person would report to the
2 Director of Nursing, and the Director of Nursing would
3 have broader responsibilities even than those.

4 Q. Anyone else?

5 A. I think that should cover it with respect to the
6 scheduling of the test as **we** had talked about.

7 Q. Doctor, let me switch gears here for a minute. What
8 diagnostic tools are available if there's a concern that
9 a patient might develop a DVT?

10 A. In general?

11 Q. Yes, in general.

12 A. Well there are lots of them but --

13 Q. Maybe if you could take it from the top, the best, the
14 most specific testing, and others.

15 A. Sure. Well the gold standard is commonly considered to
16 be contrast venography where dye is injected into the
17 veins and pictures are taken to see whether the flow is
18 good.

19 And another available test would be tagged
20 fibrinogen scanning which is a nuclear study that
21 highlights places of clot formation.

22 And another commonly used test is ultrasound
23 testing which can be done in several different ways.
24 Duplex scanning is one, and plethysmography is a related
25 technique, and Doppler color flow is another.

- 1 Q. What was the middle word there?
- 2 A. Plethysmography? P-L-E-T-H-Y-S-M-0 --
- 3 MR. GOLDSTEIN: Slower.
- 4 THE WITNESS: -- G-R-A-P-H-Y.
- 5 MR. GOLDSTEIN: Do it one more time, please.
- 6 BY MS. ROLLER, CONTINUING:
- 7 Q. P-L-E-T-H-Y-S --
- 8 A. -- mography.
- 9 Q. So that's three general types of testing: the contrast
- 10 venography, the tagged fibrinogen test or imaging, and
- 11 then the ultrasound testing.
- 12 A. And then the color Doppler flow is a related but separate
- 13 technique.
- 14 Q. That's a separate technique?
- 15 A. Yeah. Sometimes they're done in conjunction to get the
- 16 greatest sensitivity and specificity.
- 17 Q. Anything else?
- 18 A. There are other tests, but those cover the main ones.
- 19 Q. The DVT study that was ordered, is that one of these
- 20 four?
- 21 A. It would be the ultrasound.
- 22 Q. The duplex?
- 23 a. The noninvasive DVT scan, yes, would be the ultrasound
- 24 and likely with color duplex.
- 25 Q. Of these tests is there one that's done more often than

1 another, and what is that?

2 A. In clinical practice the noninvasive testing is the most
3 commonly performed --

4 Q. The DVT?

5 A. -- as a screening test.

6 Q. And that's the DVT test?

7 A. Yes.

8 Q. The venography would be invasive, because you have to put
9 dye in the vein. Is that right?

10 A. Correct.

11 Q. And then is it a tagged fibrinogen?

12 A. Correct.

13 Q. How is that performed?

i4 A. In that test something is injected by vein. It
15 circulates through the blood, and the radioactive
16 material is deposited in areas of clot formation.

17 Q. And what would dictate ordering one of these tests over
18 the other? I mean is it cost?

19 A. The clinical circumstance. The location that you
20 suspected DVT to have occurred in. For example, they can
21 occur in arms as well as legs. (Indicating.) They can
22 occur in the abdominal cavity. You can have clot
23 formation that you might need to diagnose and so forth.

24 Q. For someone who had a bilateral knee replacement what
25 would be the best test?

1 a. Noninvasive testing would be the best screening test in
2 most circumstances.

3 Q. The best in what fashion? The best in that it would be
4 the most diagnostic, or that it's the least invasive, or
5 it's the least expensive? By "best" what are you
6 referring to?

7 A. You've raised a variety of good points. So let me ask
8 you to restate your- question.

9 Q- By "best" I mean the best imaging. What's the best
10 imaging if someone has a bilateral knee replacement?

11 A. To put it in clinical terms?

12 Q. Yes.

13 A. It sounds like you're asking what would be the most
14 sensitive in terms of diagnosing clots if they exist
15 regardless of whether they're big or small or clinically
16 significant or clinically insignificant.

17 Q. Much better put.

18 A. And as I mentioned before, the contrast venography is
19 commonly considered the gold standard for diagnosing any
20 and all venous clots.

21 Q. And why would an ultrasound, a DVT study, be ordered as
22 opposed to the gold standard contrast venography?

23 A. Because of a variety of reasons some of which you
24 mentioned in your earlier comment. First of all, what
25 we're looking for typically in a situation like that are

1 clinically significant clots, not just any clot no matter
2 how small or insignificant they might be.

3 And we're looking for information that will
4 help us determine what is the best clinical test
5 intervention treatment to provide to the patient, and for
6 that purpose the noninvasive test would be the
7 appropriate first step.

8 Sometimes the gold standard test like contrast
9 venography which involves more risk to the patient
10 because of contrast and radiation exposure would be a
11 necessary follow-up if for example the noninvasive
12 testing was equivocal and we were afraid to fully
13 anticoagulate the patient and for some reason you didn't
14 have the ability to put in a Greenfield filter or other
15 inferior vena cava filter to reduce the risk of
16 significant pulmonary emboli.

17 So sometimes we use these tests in a sequence
18 so that we only proceed to the more risky procedure when
19 it is clinically necessary.

20 Q. How do you define a clinically significant clot?

21 A. Well it depends on the circumstance again. But since
22 we're talking in general terms --

23 Q. And let me define a little bit better in this
24 circumstance with a patient like Mr. Hayes, his clinical
25 presentation, his age, the fact that he had bilateral

1 knee surgery, what would be a clinically significant
2 clot?

3 A. I'll assume that we're going to just talk about venous
4 clots rather than arterial clots or hematomas and things
5 like that.

6 Q. Let me ask you before we go on. The DVT study would only
7 have shown a venous clot, wouldn't it?

8 A. It would have shown primarily venous clots. It would not
9 show arterial clots, and it may or may not show hematomas
10 of significance around the total knee arthroplasty.

11 Q. So if you could define clinically significant clot in
12 that sense.

13 MS. TOSTI: From the perspective of an internal
14 medicine physician, correct?

15 MS. ROLLER: Yes. Thank you.

16 THE WITNESS: A clinically significant clot
17 would be one that could develop to a clinically
18 significant complication. And most commonly we think in
19 terms of venous propagation where there's extension of
20 the clot through the leg which can result in what's
21 commonly called phlebitis or postphlebitic syndrome,
22 varicose veins, lymphedema, and local complications such
23 as that.

24 And the other even more significant
25 complication that we want to try to avoid by identifying

clots early when they exist are pulmonary emboli and
2 other sorts of complications resulting from pieces of
3 clot breaking off and migrating through the circulatory
4 system.

5 BY MS. ROLLER, CONTINUING:

6 Q. Can you quantify that in any way? When referring to a
7 clinically significant clot under these circumstances,
8 can you quantify it by dimension or size?

9 A. You're asking how big of a clot is clinically
10 significant?

11 Q. Exactly.

12 A. It depends on the location and clinical circumstances.
13 So, for example, it's well-recognized and I think
14 discussed in some of the other depositions that I read
15 that clots that form at or above the level of the knee
16 are of greater risk to the patient because of the
17 significantly greater risk of pulmonary emboli. Whereas,
18 those that are restricted to below the knee are of less
19 consequence although they provide a focus or nidus of
20 clot which leads to propagation of clotting to these
21 other more dangerous types that are at or above the knee.
22 Q. You talked about sensitivity before with respect to these
23 four various tests. How sensitive is the DVT test? In
24 other words, how large does a clot have to be so that
25 it's detected on a DVT?

1 A. In a patient such as Mr. Hayes it's 95 percent sensitive
2 in most circumstances for detecting a clot at or above
3 the knee.

4 Q. In this case do we know that the clots that eventuated in
5 the pulmonary emboli which led to his death, do we know
6 where they began?

7 A. It's probable that they developed at or below the level
8 of the knee replacements and propagated into the thigh
9 proximally from there.

10 Q. I want to make sure I understand what you're saying.
11 Before when you talked about 95 percent sensitivity that
12 was for predicting clots where?

13 A. At or above the knee.

14 Q. At or above. And it's your testimony that you believe
15 that the clots/clot which eventuated in the pulmonary
16 emboli in this case started at or below the knee?

17 MS. TOSTI: And propagated to the thighs.

18 BY MS. ROLLER, CONTINUING:

19 Q. Is that your testimony?

20 A. I'm not sure if that exactly characterizes the
21 circumstance. But to go over my opinions about that, the
22 total knee arthroplasty by itself and related to the
23 surgical trauma and then the swelling of the tissue
24 around the knee that's a natural consequence of the
25 surgery will impair venous return from the calf and

1 contribute to stasis or slowing of the blood flow below
2 the knee and around the area as an immediate consequence
3 of the surgery. So the clot is likely to at the very
4 beginning start right around the knee or just below the
5 knee --

6 Q. I see.

7 A. -- and then to extend. Or there may be multiple areas in
8 the affected part of the limb where a clot will form, and
9 then they may coalesce into a large massive clot and then
10 propagate proximally, meaning towards the bellybutton,
11 and develop into a more expensive clot in larger diameter
12 veins with a greater potential to break off and migrate
13 to other areas such as the lungs.

14 Q. This DVT study that was ordered, it was a DVT study of
15 what part of his anatomy?

16 A. I believe the lower extremities, but we can look at the
i7 order.

18 Q. Yes. Why don't we.

19 (Whereupon a discussion was held off the
20 record.)

21 BY MS. ROLLER, CONTINUING:

22 Q. I'm looking at the order sheet that says under
23 miscellaneous orders --

24 A Yes.

25 Q. -- DVT study 11-25 to rule out DVT.

I A. Yes.

2 Q. But I'm not aware of it any more specifically defined.

3 MS. TOSTI: Deep vein is the title of the test.

4 MS. ROLLER: Thank you.

5 THE WITNESS: That would be interpreted as a
6 bilateral lower extremity study especially in the context
7 of having bilateral total knee arthroplasties.

8 BY MS. ROLLER, CONTINUING:

9 Q. Now if the result of a DVT study is concerning, what is
10 the appropriate therapy?

11 A, It depends on the patient. But in a patient such as
12 Mr. Hayes the appropriate response would, of course, be
13 to contact the physician with the result immediately so
14 that they could make a determination.

15 What would be the common course of action would
16 be to evaluate whether he was a candidate for either
17 thrombolytics, anticoagulation, or a filter placement in
18 the inferior vena cava which is basically a wire mesh net
19 that is lodged into the very large central veins where
20 the pieces of clot might break off and flow through to
21 prevent them from getting into the lungs.

22 Q. Now does a DVT study -- tell me what you'd see. Is it a
23 film like an x-ray? What is the study? What does it
24 produce?

25 A. Well it depends on which one of the studies. So, for

1 example, the color duplex study would look at the blood
2 vessel, in this case a vein, and the Doppler color study
3 would show different colors depending on the velocity of
4 the blood flowing through it, for example, to show
5 whether it was patent or not and how much of it was
6 patent, and you could compare. And you could get
7 ultrasound which would be basically a black and white and
8 gray monochromatic picture.

9 Q. Is it a film like a CAT scan, an MRI film, an x-ray? I
10 mean is it a film?

11 a. It's more like a movie which is recorded, and then
12 standard pictures may be taken for review.

13 Q. I've never seen it.

14 A. They're cool.

15 Q. It sounds like it.

16 And is it in various locations of the lower
17 extremity, or is it the entire vein?

18 A. Well they would study it at different levels.

19 Q. And on this movie?

20 A. Right.

21 Q. You said you'd contact the physician and then consider
22 thrombolytics or anticoagulants. They're two different
23 things?

24 MS. TOSTI: Or filter placement.

25 MS. ROLLER: Yes, or filter placement.

1 BY MS. ROLLER, CONTINUING:

2 Q. But I'm asking about the thrombolytics or anticoagulants.
3 Are those two different things?

4 A. They are.

5 Q. Could you tell me what each of them are?

6 A. Sure. Well anticoagulation is something which simply
7 inhibits the clot formation process which would primarily
8 be intended to stop the clot from enlarging and hopefully
9 give the patient time to solidify the clot. Because the
10 natural process is for the clot to harden, contract,
11 become endothelialized, recanalized, reabsorbed, and
12 secured so that it's not as likely to break loose and go
13 off.

14 Q. And heparin and lovenox are both anticoagulants?

15 A. They are as is coumadin or warfarin.

16 Q. Any other anticoagulants that are used for this purpose?

17 A. Well enoxaparin and other low molecular weight and medium
18 molecular weight forms of heparin. But functionally they
19 do much the same. They're just easier to administer and
20 monitor.

21 Q. How is that different than thrombolytics?

22 A. Thrombolytics actually dissolve the clot and can mitigate
23 the risk of it breaking off by actually dissolving it.
24 So it's more like Drano for blood clots.

25 Q. And what is an example? What kind of medication?

1 A. Streptokinase, urokinase, **TPA**.

2 Q. Can you tell me under what conditions that you would
3 order a filter placed as opposed to treating the patient
4 with either thrombolytics or anticoagulants or both of
5 those? Under what circumstances would you place a
6 filter?

7 A. In a patient that was at an unacceptably high risk of
8 complications related to the other forms of treatment
9 and/or at immediate risk of big clots breaking off.

10 Q. Because that's an invasive procedure I take it.

11 A. Minimally invasive but it is invasive.

12 Q. How long does it take to obtain results from a DVT once
13 the study has been done?

14 A. Usually the tech reads it, and it's available
15 immediately. I'll often get a phone call right away.

16 Q. **And** who in this case would have been the appropriate
17 person to call if the DVT study had shown results of
18 concern?

19 Pl. You mean for the DVT technician to call?

20 Q. Excuse me. Yes.

21 A. They could call the attending physician and/or the
22 facility.

23 Q. And then how long does it take -- once a physician has
24 determined the appropriate therapy to begin or medication
25 how long does it take for it to become effective?

1 A. Well, for example, if Mr. Hayes had gone to the hospital
2 to have the DVT scan as it had been ordered, it's my
3 opinion that that DVT scan would have been positive or
4 abnormal and that it would have been clear immediately
5 so that the technician would page the attending physician
6 or responsible party while Mr. Hayes was still in the DVT
7 office at which point he would probably have gone to the
8 emergency room.

9 Or if they're set up with a vascular lab where
10 he could have been treated immediately, he probably would
11 have had a filter placed within an hour or so, two hours,
12 but certainly the same day.

13 Q. What is the basis of your opinion that the DVT study on
14 November 25th would have revealed the presence of
15 thrombolytic material'?

16 A. Thrombolytic material would be like the TPA or the
17 streptokinase. It's my opinion that the DVT study would
18 have shown a clot based on my review of the clinical
19 records and understanding of the pathophysiology and my
20 clinical training and expertise.

21 Q. Well it's certainly possible, is it not, that the
22 thrombus could have developed after November 25th, 1997?

23 A. In my opinion that's extremely unlikely.

24 Q. Why is that?

25 A. What appears to be probable in my opinion is that the

1 time course of the postoperative edema and stasis in the
2 venous system was such that. he was at very high risk for
3 DVT starting immediately postoperatively and that it
4 would take a certain amount of time for that clot to
5 develop and propagate.

6 It's very unlikely that the test would have
7 been normal on the 25th and develop to such a degree
8 between then and the 27th so as to cause this problem.

9 Q. Can you give me some time frame on how long in your
10 opinion it takes to develop from a thrombus to the
11 condition of a pulmonary emboly so as to cause death?

12 A. It varies with the circumstance, but the circumstance
13 that put Mr. Hayes at a high predisposition for forming
14 thrombus was the knee surgery. And there was not a
15 substantial change in his clinical circumstance between
16 the period of the 25th and the 27th as to put him at
17 substantially higher risk than between the 23rd and the
18 25th.

19 And just the natural history and epidemiology
20 of when these things occur in relation to the surgery is
21 such that it is most likely that it was developing from
22 relatively soon after surgery. It may or may not have
23 reached clinical significance, you know, within a couple
24 of days, but that probably had become established at
25 least in the calf and around the knees around the time of

1 his first day or so there and would very, very likely
2 have been readily detected on noninvasive testing on the
3 23rd -- or rather on the date of the 25th which is when
4 the DVT study was ordered.

5 Q. I take it though that there is a variability in the rate
6 of development of a thrombus to grow in size, to become
7 dislodged, the rate of its movement. I take it that all
8 of those issues are variable from patient to patient.

9 A. All biological parameters have some variability, hut this
10 is not a surprising circumstance. It would be extremely
11 unlikely for the patient to have not had clinically
12 detectable thrombus at the time the test was ordered on
13 the 25th and then to suddenly develop fatal pulmonary
14 emboli thereafter. Because it is known that these things
15 most commonly develop in the three to five days
16 thereafter just in a general circumstance, and that's
17 presumably why the attending physician ordered the DVT
18 study to be done on postoperative day five.

19 Q. You've reviewed the autopsy report?

20 A. Correct.

21 Q. And it indicates that with respect to the lungs that --
22 (Indicating.) I recall seeing reference to a shower of
23 thromboemboli, and I forget where that reference of a
24 shower was.

25 But in the lungs it does say on page four and

then to the top of page five there are multiple
2 thromboemboli present in the small and medium-size
3 branches of the pulmonary artery. The emboli are present
4 in all lobes of both lungs. Parenchyma of the lungs is
5 unremarkable.

6 What significance do you take from that finding
7 with respect to the development of the thrombus from the
8 deep veins if any that that **was** the finding in the lungs?

9 A. I relate the multiple pulmonary emboli shown at autopsy
10 to the most likely source being the lower extremities, an
11 area around the total knee arthroplasties.

12 Q. But is the configuration of what you see here, does it
13 give you **any** clue as to whether **it's** one clot that
14 develops and moves up or whether it's a multiple maybe
15 smaller thrombus that then emerge as if on a sudden
16 burst? Does it tell *you* anything with respect to this is
17 the presentation at autopsy?

18 A. That's a good question. What I think we can infer from
19 this is that there were multiple emboli. But it's hard
20 to say a lot with respect to the size, because a large
21 embolus can break up into pieces.

22 And, of course, just thinking about it in terms
23 of plumbing, a large clot will become stopped as the
24 narrow of the blood vessels tapers to that same diameter.
25 However, because the body has its own process to dissolve

--

1 clots and try to protect vital organs like the lungs
2 there is a process to dissolve that clot to the extent
3 the body is able to.

4 And the clots can also be broken **up** because of
5 mechanical forces; and, therefore, it could have been a
6 large clot that breaks up into multiple pieces.

7 I infer though from the diffuse nature that
8 there were probably multiple emboli reflecting an
9 extensive venous clot in the lower extremities, and
10 whether some of them were quite large and then **broke** up
11 to fit into the small and medium branches of the
12 pulmonary artery is something I can't comment on.

13 Q. Let's move on to something else. You in your **report**, I
14 think it's paragraph ten, state that in your opinion
15 Mr. Hayes had a life expectancy of at least four years on
16 November 23rd, 1997, correct?

17 A. Yes.

18 Q. First let me just ask you how you determined that, your
19 basis for that statement.

20 A. Sure. Well it's based primarily on my clinical training
21 and background in conjunction with a detailed review of
22 his medical records.

23 Q. it's fair to say that **there** are written studies or charts
24 that give you life expectancy if you plug in certain
25 factors. Did you use any source material in reaching

1 that opinion?

2 A. Well the way I would typically do that and did in this
3 case would be to try to determine life expectancy using
4 several different approaches and hoping that they
5 converge which in this case they do.

6 So, for example, certainly looking at a life
7 table and seeing what the average life expectancy for
8 someone of this sex and age would be is one point of
9 reference.

10 Q. And do you know what the life table states about
11 Mr. Hayes?

12 A. It depends on what life tables you look at. Some do
13 general population; some do by sex, by race; some do by
14 health conditions and so forth. But the average life
15 expectancy for a black male of his age would be between
16 nine and ten years.

17 Q. Are you referring to any particular life table in
18 reference to that?

19 A. One I recall is a 1993 life table that is a general
20 national frame of reference that is put out by the United
21 States government. (Indicating.) And I might have
22 something. As I mentioned, it's only a point of
23 reference, but it is one.

24 Q. Now I didn't know those were part of your notes. Is
25 there any reference to a life chart in those notes?

1 A. Well I had hoped it was in this stack of materials, but
2 it is certainly a standard sort of an item that I could
3 either produce one of or similar.

4 Q. Where did you obtain it?

5 A. The 1553 tables?

6 Q. Yes.

7 A. I probably have it in my office, and I think you may have
8 it as well.

9 MS. TOSTI: We discussed it at some point I
10 remember.

11 MS. ROLLER: Is this part of the documents that
12 you have?

13 THE WITNESS: What you have I don't know.

14 MS. TOSTI: I have a copy of it.

15 MS. ROLLER: Well I'd like to see it, because
16 he said he made reference to it. Do you have it with
17 you, Jeanne, or any other life table information?

18 MS. TOSTI: Let me see here.

19 THE WITNESS: Should I continue with my answer
20 as she's looking for that?

21 MS. ROLLER: Is that all right, Jeanne?

22 MS. TOSTI: Go ahead.

23 THE WITNESS: So life table information would
24 be one point of reference. And to the extent it might be
25 available, multidecrement life table information might

1 also be useful if the medical conditions in those
2 multidecrement life tables relate to the patient's
3 condition.

4 BY MS. ROLLER, CONTINUING:

5 Q. Multidecrement? That's a new word for me.

6 A. I'm sorry.

7 Q. D-E-C-R-E-M-E-N-T?

8 A. Yes.

9 Q. What does that mean?

10 A. I suppose we didn't talk about my research fellowship,
11 but one of the areas of interest I had was in terms of
12 determining how different health conditions affect life
13 expectancy for patients.

14 But a multidecrement life table is similar to
15 the general life tables that I suspect you're familiar
16 with that give life expectancies, but then they break
17 down how a given condition, let's say diabetes or strokes
18 or cancer, affect the mortality.

19 So if you, for example, take a thousand people
20 and chart out for every year for the next hundred years
21 how many of them are surviving, you would see a decline
22 in the number, because none of us live to a thousand
23 years. And it would break down how many of those die due
24 to the different causes; and, therefore, you get some
25 indication of how much of that mortality or attrition in

the population is related to those different conditions.

2 So, for example, we know that the five leading
3 causes of mortality in people in his age group would be
4 things like, you know, heart disease and stroke and COPD
5 and diabetes and cancer. And so if we have some detailed
6 information on how much of the attrition in the
7 population is due to each of those causes and I can
8 clinically determine his risk either compared to average
9 or absolute for each of those conditions, I can fine-tune
10 that information in terms of determining his life
11 expectancy and use that as another point of reference in
12 conjunction with my clinical judgment and review of the
13 records and fine-tuning what his life expectancy is.

14 Q. Thank you very much for that, because we'll get back to
15 it in one second.

16 MS. ROLLER: Jeanne?

17 MS. TOSTI: I have a copy of the 1993 Vital
18 Statistics of the United States Life Tables. This is my
19 copy and not in his file. So for reference that you've
20 requested it from me you can look at it.

21 MS. ROLLER: If I can maybe ask Dr. Persky --

22 MS. TOSTI: Whether that's one he looked at I
23 can't say.

24 BY MS. ROLLER, CONTINUING:

25 Q. That's **the** question, whether or not the document you're

1 looking at right now is the same as the life table **you**
2 referred to earlier being from the U.S. government.

3 A. Yes.

4 MS. ROLLER: Can we make a copy of that,
5 Jeanne, since he looked at it? Let's just mark that as
6 Exhibit C.

7 MS. TOSTI: As long as it shows that this is my
8 copy and not anything with his notations on it.

9 MS. ROLLER: That's fine.

10 (Whereupon Deposition Exhibit C was marked for
11 identification by the Notary Public and is
12 attached.)

13 (Recess held at or about 3:30 p.m.)

14 (Back on the record at or about 3:34 p.m.)

15 BY MS. ROLLER, CONTINUING:

16 Q. **You** have defined for us this multidecrement table. Is it
17 actually a table?

18 A. Yes.

19 Q. And you made reference to some work you did as, what was
20 it, a fellow?

21 A. Part of **my** research fellowship was working with
22 multidecrement life tables.

23 Q. Did **you** publish anything **on** that?

24 A. I don't think so.

25 Q. Now where would one find the multidecrement life table?

1 A. For example, the public health library at the University
2 of Michigan, or I would expect in many of the same places
3 where you could find life tables similar to the one we've
4 just had copies made of.

5 Q. And if you could just explain one aspect of those tables.
6 You mentioned, for example, five different conditions
7 that contribute to one's earlier demise than if they
8 didn't have those conditions. How does a multidecrement
9 life table take into account if a person has perhaps two
10 of those conditions or three of those conditions?

11 And I guess what I'm specifically asking is is
12 there a multiplier effect? In other words, it's not just
13 you add on the same amount of reduction in the life, or
14 is there a multiplier effect?

15 A. Boy, those are great questions, and those are
16 fundamentally research questions. But if you want, I
17 could answer those.

18 Q. Well let's just review with respect to Mr. Hayes'
19 conditions that would show up on a multidecrement life
20 table, because it's fair to say that he had a number of
21 conditions that challenged his life expectancy. Is that
22 fair to say?

23 A. A number can read from zero to infinity.

24 Q. He had more than one.

25 A. He had health conditions that would affect his quality of

1 life and survival. And normally in determining life
2 expectancy we would want to do our best to determine what
3 clinical conditions that we knew about might affect his
4 life expectancy as well as whether there might have been
5 preclinical conditions that would likely become evident
6 in the future to the extent we can determine that based
7 on clinical judgment and the tools available to us.

8 Q. What conditions did you consider for Mr. Hayes?

9 (Whereupon a discussion was held off the
10 record.)

11 THE WITNESS: Well I looked at his medical
12 records and, you know, determined his different medical
13 conditions of significance and also looked at the autopsy
14 to try to identify the severity of the conditions we knew
15 about as well as whether or not there might have been
16 other conditions that would have been important to
17 identify preclinically, or their absence also would be
18 important. So are you looking for an enumeration?

19 BY MS. ROLLER, CONTINUING:

20 Q. Yes, I am, please, at this point.

21 A. Well let me go through some of the highlights and also
22 mention that just as you're asking about how do all these
23 different things add up and is there a multiplicative
24 effect and added effect and so forth, statistical methods
25 will never replace clinical judgment in looking at this

1 kind of thing comprehensively and offering an expert
2 opinion.

3 But some of the things I felt were clinically
4 relevant when I reviewed his record with both quality of
5 life and life expectancy had to do with mild COPD which
6 showed up as causing shortness of breath with exertion.
7 He had high blood pressure. At one time he had aortic
8 stenosis which had been treated successfully with a
9 prosthetic or artificial valve which resulted I recall in
10 a resolution of I think a left hypertrophy which is
11 thickening of the left ventricle as a result of blockage
12 of the left aortic valve.

13 Q. I'm sort-y to interrupt, but on that point did you then
14 eliminate that as a contributing factor to lowering his
15 life expectancy? In other words, aortic stenosis was
16 remedied by aortic **valve** replacement. That's what you
17 felt?

18 A. Yes. Although we would have to factor in the life
19 expectancy of the prosthetic valve and whether there was
20 any potential risk of needing subsequent surgery and
21 things like that which based on the autopsy as well as
22 the clinical history did not seem to be a problem. They
23 particularly mention how nice the valves looked and so
24 forth.

25 He had some rare confusion episodes that had

1 been mentioned. And although the circumstances aren't
2 defined in the references that I saw, I did note that.
3 But there were also some episodes of delirium in the
4 hospital which I don't make particular significance of,
5 because it's so common among older people with and
6 without cognitive impairments.

7 I notice the fact that he had osteoarthritis
8 which was largely responsible for his gait problem and
9 need for bilateral **knee** replacement, but I expected that
10 would have been taken care of by and large with the knee
11 surgery had he survived the recuperative period.

12 And he had nocturia, meaning urinating at
13 night, and a history of a prostate condition requiring
14 treatment.

15 Q. Was that cancerous at any point?

16 A. There was not a significant prostate cancer. And I'm not
17 aware as to whether there might have been microscopic
18 specks of things when enlarged prostate tissue is removed
19 surgically. But as far as I'm aware, he did not have a
20 clinical significant problem with prostate cancer.

21 And he had a history of congestive heart
22 failure mentioned in the past, but I believe that was
23 related to the aortic stenosis which again was taken care
24 of with the surgery. And he was clinically fine with
25 that.

1 And so those are the main conditions that I
2 considered. He was a little bit overweight. And so
3 **those** are **the** factors that might negatively affect either
4 his quality of life or life expectancy.

5 And, of course, there are certain things that
6 stand out as being very positive in terms of his life
7 expectancy. Partially the absence of many of the either
8 fatal conditions or disabling conditions that people
9 experience. We talked about some of the ones that we
10 consider.

11 Q. Such as diabetes?

12 A. "Such as diabetes."

13 Q. Anything else that you can think of?

14 A. Sure. Well he didn't have clinically significant COPD
15 from what I see.

16 And with respect to the question of whether he
17 did or didn't have a stroke, I only saw reference to that
18 without knowing the basis. But I do also see that there
19 was autopsy information that indicated that, you know,
20 both on CT and pathologic examination that there was no
21 significant stroke damage done to the brain. So I'm not
22 at all clear as to whether he did or didn't have a stroke
23 in '95 and if so of what if any significance that was.

24 But other factors that I was getting to was
25 also the fact that he lived with his wife and, therefore,

1 had good social support. He was well-nourished. He had
2 a good quality of life, activities and so forth, and
3 there was reasonable expectation of that continuing for
4 many years.

5 And then the family support being local and so
6 forth, other social and psychological factors, and
7 absence of things that affect quality of life like
8 depression or, you know, major life traumas as well as
9 the absence of some of the medical conditions or the mild
10 nature of some of the medical conditions that he had.

11 Q. So you've provided us with a list of what you factored in
12 for Mr. Hayes with respect to determining his life
13 expectancy.

14 A. I identified the main clinical factors that I considered.

15 Q. With respect to his hypertension, how did you rate that?
16 Was his hypertension, his blood pressure, controlled,
17 poorly controlled?

18 A. Well there are a couple ways to think about that
19 clinically. One way is looking at his blood pressure.
20 Another is by looking at the end organs which are
21 commonly affected by blood pressure which would include
22 the retina in the eye, the kidney as shown by serum
23 creatinine primarily as well as urinalysis, looking for
24 protein in the urine.

25 And left ventricular hypertrophy is something

1 that can happen to the heart if it's straining such as
2 could occur in controlled hypertension And aortic
3 stenosis and so forth.

4 So from the perspective of looking at blood
5 pressures and seeing how he was doing, there were times
6 when it was marginally controlled and other times when it
7 was well-controlled. And I didn't look to see why it was
8 not optimally controlled at all points in time, but there
9 were times that it was well-controlled and times that it
10 was not as well-controlled.

11 Q. I guess I'm just asking with respect to what factor his
12 blood pressure -- did you factor it as a severe problem,
13 moderate problem?

14 A. I wouldn't necessarily use that categorization, but I
15 weighed in the relative lack of end organ disease and the
16 generally adequate control but not uniformly optimal
17 control.

18 Q. He did have cardiac disease with left ventricular
19 hypertrophy.

20 A. Prior to '95 and the aortic valve replacement. I'm not
21 aware of him having it subsequent to that time. And we
22 also have the pathology from the autopsy that did not
23 describe left ventricular hypertrophy.

24 Q. Did you note that he had a stenotic liver?

25 A. I did not note -- he did not have a stenotic liver let me

1 say, but he had fatty infiltration of the liver to some
2 degree which is not a clinically critical thing.

3 Q. Are you aware that the final anatomic diagnosis lists --
4 well it says S-T-E-A-T-O-S-I-S of **the** liver.

5 A. Steatosis.

6 Q. Okay. What is steatosis?

7 A. Fatty infiltration.

8 Q. And what is that condition?

9 A. It's not typically of clinical significance. If he had
10 cirrhosis of the liver, that would be of clinical
11 significance or a variety of others things.

12 Q. Could you show me the particular reference?

13 A. Page one under final anatomic diagnosis.

14 MS. TOSTI: (Indicating.)

15 THE WITNESS: Steatosis as we had described.

16 BY MS. ROLLER, CONTINUING:

17 Q. What is severe ulcerating athrosclerosis abdominal aorta?

18 A. That is hardening of the arteries in the aorta which is
19 the main blood vessel that supplies the legs.

20 Q. Is that a significant factor here to determine his life
21 expectancy?

22 A. Hardening of the arteries is certainly an important
23 consideration, and the severe ulcerating athrosclerosis
24 in the abdominal aorta is certainly something I would
25 consider and would need to consider along with the other

1 evidence for and against vascular disease.

2 Q. Should we add that to your list then? I didn't hear you
3 mention it earlier, did you?

4 A. Well I talked about vascular disease. And I didn't
5 mention that. particular aspect of it, but certainly that
6 was considered. I'm sorry I didn't note vascular
7 disease. We were talking about the risk of stroke and so
8 forth.

9 Now something like this could cause peripheral
10 vascular disease depending on the circumstance. But more
11 commonly if little plaques break off of the atheromas in
12 the abdominal aorta they're going into the legs at that
13 point, and they're not usually clinically significant.
14 But it could be a mark of associated disease in the lower
15 extremities which was not identified on the autopsy.

16 Q. Let me just ask you about one other area. With respect
17 to his condition, you made reference to that he had a
18 rare confusion episode and a note of delirium in the
19 hospital. What do you attribute that to?

20 A. As I think I mentioned briefly at the time, those are
21 separate events. And there was a reference to rare
22 confusional episodes which are a little hard to
23 interpret, because I don't know the circumstances and
24 whether it was a reference to things that happened, for
25 example, when he went in for his aortic valve replacement

1 or whether they were things that happened at home,
2 whether he was having, ~~E~~or example, a urinary tract
3 infection or other metabolic-like condition that might
4 cause such a thing without being of any significance.

5 But I take that to, *you* know, suggest that he
6 had some sensitivity with respect to his cognitive
7 functioning to metabolic insults.

8 Q. Enough that it is mentioned in his history.

9 A. Yes.

10 Q. That is it's not just a one-time incident. It's happened
11 on more than one occasion.

12 A. When they say "rare confusional episodes," I would guess
13 that they're referring to one or two times over a decade
14 or something in that neighborhood.

15 Q. He also has been noted in his history to have a right
16 occipital CVA cerebral accident.

17 A. A history of.

18 Q. I'm reading it as H/O history.

19 A. Yes. H/O would mean history of.

20 Q. And what I was trying to clarify is whether you saw any
21 diagnostic test that identified that from perhaps some
22 medical records that I don't have.

23 A. But I did see a reference which I did mention as I was
24 going through my list of a possible stroke in 1995. And
25 in conjunction with interpreting that I also looked at

1 the **CAT** scan autopsy information done after his death
2 that didn't show such a problem.

3 Q. Putting all that together then how is it that you came up
4 with that life expectancy for him of four years?

5 A. Well considering his clinical history and medical records
6 as well as the life table information and so forth I
7 think that -- just to touch back on five major causes of
8 death that we discussed earlier, his **COPD** was mild and
9 not likely to be a significant cause of either morbidity
10 or mortality certainly in the next five years and
11 probably longer.

12 With respect to heart disease, we have both
13 clinical tests and pathology information to suggest that
14 he didn't have significant coronary artery disease.

15 With respect to cerebral vascular disease, we
16 have a clinical suggestion of an event in 1995 without
17 any confirmation or recurrence up to the time of his
18 autopsy and no significant damage based on the autopsy
19 CAT scan and microscopic exam.

20 He did not have diabetes. He had normal kidney
21 function based on serum creatinine and other tests that
22 were done. And he had no clinical history of significant
23 malignancy nor did the autopsy identify any preclinical
24 malignancy all of which I think would give him I think in
25 a lot of respects a good life expectancy in relation to

1 the norm.

2 And on my report I put that I would estimate it
3 to be at least four years, because I wanted to be
4 conservative and not finalize my estimation of life
5 expectancy. But at this point based on more extensive
6 review I would place it upwards from four years and put
7 him somewhere in the middle of his age and race
8 distribution.

9 Q. Meaning specifically what?

10 A. Meaning at least eight years would be his life expectancy
11 with a reasonable degree of medical certainty certainly.

12 MS. ROLLER: Did we mark this?

13 MR. GOLDSTEIN: We did. It was Exhibit C.

14 That's my copy.

15 MS. ROLLER: Could I look at it?

16 MR. GOLDSTEIN: Sure. You can look at it.

17 BY MS. ROLLER, CONTINUING:

18 Q. The life table from the United States Vital Statistics
19 1993 that you mentioned earlier that we marked as Exhibit
20 C for a 72-year-old black male is 9.9 years.

21 A. Right.

22 Q. And you're saying that you believe now that his life
23 expectancy is eight years?

24 A. I'm trying to be conservative in saying that it would be
25 at least eight years, because on balance of the nature of

1 the clinical conditions and his anticipated recovery from
2 the total knee arthroplasties I would expect him to have
3 recovery and average life expectancy for his age and sex.

4 Q. And the fact that he does have **COPD**, albeit mild, he does
5 have a blood pressure/hypertension issue which at times
6 has been noted to be uncontrolled -- you do agree with me
7 that it was noted in his records?

8 A. Yes.

9 Q. That he has aortic valve replacement and has had some
10 suggestion of a vascular episode in the past --

11 A. Right.

12 Q. -- with episodes of confusion, osteoarthritis, congestive
13 heart failure. All of those factors exist in this man,
14 and you are now stating that his life expectancy you
15 think is eight years as opposed to the four years stated
16 in your report?

17 A. I believe that was intended as a question.

18 Q. That's a question.

19 A. And we have to separate out things that would affect
20 survival from things that would affect function, and in
21 my report what I stated is that it would be at least four
22 years, I didn't say that it would be four years. Let me
23 please be clear about that.

24 And based on further review I can now tell you
25 that it is my opinion that his life expectancy is fairly

1 close to the average for his age and sex because of some
2 of the factors we've talked about; for example, the
3 relative absence of the common fatal conditions or the
4 mild nature of them and the fact that older people
5 commonly have chronic diseases in varying levels of
6 severity.

7 So we're not comparing him to, you know,
8 healthy 40-year-olds who don't have a disease burden. So
9 that the norm for a 72-year-old gentleman is to have some
10 disease burden. So we have to look at the nature of the
11 problems, the severity of them, and the likelihood that
12 they would affect survival.

13 Now based on further review I can state and
14 it's my opinion that his likely survival would be more
15 than four **years** and would be between the mean stated as
16 the fiftieth percentile in the life tables and the
17 four-year figure.

18 Q. The mean, is that 9.9 or half of 9.9?

19 A. That's a good question. If you look at different life
20 tables, they will vary between nine and ten. So I **guess**
21 I would state that I'm comfortable offering the opinion
22 that it would be close to eight years. That his life
23 expentancy would be close to eight years.

24 And, you know, if you want me to try to
25 pinpoint it between **six** and ten by the **six** months, I

guess I'd have to go and, you know, sharpen my pencil and try to come up with a precise mean for you. I guess you do need that though.

Q. Well is it fair for us to understand that your opinion is that his life expectancy is somewhere between four and eight years?

MS. TOSTI: I think he just said six to ten.

MS. ROLLER: No. I'm not sure what he said. That's why I'm asking the question.

THE WITNESS: It's a fair question. I'm certainly comfortable offering the opinion that it's more than six years.

BY MS. ROLLER, CONTINUING:

Q. Can you be any more specific than that? Do you have an opinion that is more specific than that?

(Interruption.)

THE WITNESS: I believe the question was what's his life expectancy with as much specificity as I can give you, and based on further review I can offer you my conservative opinion that his life expectancy was at least six years and no greater than ten.

And I suppose if you want the most precise average of those two then we could split it down the middle and call it eight. But I'm certainly comfortable stating that his likely life expectancy **was** at least six

1 years, and my best guess --

2 MS. TOSTI: We don't want you to guess.

3 THE WITNESS: -- is something you don't want.

4 BY MS. ROLLER, CONTINUING:

5 Q. The notebook over there with your handwriting in it, what
6 is that?

7 A. These are some preliminary notes I made in going through
8 the depositions and medical records.

9 Q. I would like to make a copy of that and take it with me.

10 A. Okay.

11 Q. Make it two copies. And let me just go back for one
12 moment, and that's all it's going to be.

13 I had previously asked you questions about your
14 report and specifically in reference to paragraph seven
15 where you identified that in your opinion it was a
16 failure of the Judson Retirement Community personnel to
17 schedule the DVT study as ordered and to follow-up to
18 ensure its completion and that that was below the
19 standard of care.

20 That paragraph in your report makes reference
21 to the scheduling of the DVT as well as to follow-up to
22 ensure its completion, does it not?

23 A. Correct..

24 Q. And I asked you to identify for me the individuals you
25 felt at Judson should have ensured that not only it was

scheduled but that it was completed and that the DVT
2 study was done, and you identified I think not by name
3 but by --

4 A. Role.

5 Q. -- role three types of individuals: the unit clerk, the
6 clinical person, and then a charge nurse on the unit,
7 correct?

8 A. Something along those lines. I think we talked about the
9 person who was supposed to do the scheduling, the person
10 who was supposed to clinically supervise them to make
11 sure they were doing their job, that they were trained
12 and prepared to do their job, as well as the person who I
13 would expect would be the Director of Nursing. Someone
14 who would hire appropriate personnel, train them
15 appropriately, and also put in place a mechanism to make
16 sure that they were doing their job, that the test had
17 been scheduled. So that not only a mechanism was in
18 place to get it scheduled and double-checked but to
19 ensure that this was being done with a reasonable degree
20 of reliability.

21 Q. Is it your testimony that anybody who came into contact
22 with Mr. Hayes had responsibility to make sure that test
23 was done?

24 A. No.

25 MS. ROLLER: I have nothing further. Thank

you.

2 MR. GOLDSTEIN: I'm going to ask you some
3 questions at this time, Doctor, but I want to afford you
4 the opportunity to stretch your legs or take a short
5 break and our Court Reporter, of course.

6 THE WITNESS: No. I think we're fine.

7 MR. GOLDSTEIN: Doctor, I'm attorney
8 Bruce Goldstein. I represent Judson Retirement
9 Community.

10 EXAMINATION

11 BY MR. GOLDSTEIN:

12 Q. I sat here through the questions of you by attorney
13 Roller, and she has fortunately for all of us asked many
14 of the questions I had designated and prepared to ask
15 you. So I'm going to try to eliminate virtually all of
16 the questions I think have been asked of you and attempt
17 to avoid any duplication of questions that have been
18 asked and answered. Okay?

19 A. Okay.

20 Q. I may fail in that regard, but I'm certainly going to
21 exercise some caution to avoid it.

22 If I ask you a question and my question is
23 unclear to you, (A) forgive me. And (B) let me know, and
24 I'll try to clarify it. Okay?

25 A. Okay.

1 Q. We'll be reasonably brief given the fact that most of
2 these questions have been asked.

3 Do you have a private practice of medicine?

4 A. It depends on how you define private practice? but I do
5 see patients privately or individually without trainees,
6 nurse practitioners, or others involved such as are
7 commonly found in the teaching situation.

8 Q. It's my understanding from your testimony that you are an
9 internal medicine physician, and you have a subspecialty
10 in geriatrics.

11 A. That is correct.

12 Q. If I lived in the Ann Arbor area and fell within the age
13 group of patients that you would see, I could come to you
14 as a patient?

15 A. That's correct.

16 Q. Do you have any understanding as to how attorney Tosti's
17 office located you?

18 A. I don't know.

19 Q. You never made an inquiry as to, "Hey, how did you find
20 me?"

21 A. I might have asked about that in an initial phone contact
22 but don't recall.

23 Q. Fair enough.

24 Do you register yourself with any services that
25 identify experts in particular areas of medicine?

1 A. No.

2 Q. We have previously identified the records that you were
3 given here today, correct?

4 A. Correct.

5 Q. And as you've testified here today and up to this point,
6 is there or are there any additional information which
7 you've received that you've omitted to tell us about?

8 A. I believe we've covered it all.

9 Q. Fair enough. Sometimes you may have forgotten a thing or
10 two and recall it as we pressed through. I wanted to
11 afford you the opportunity to think back for a moment.

12 A. Thank you.

13 Q. You're welcome.

14 Did you go outside of the materials provided to
15 you by attorney Tosti to help formulate any opinions
16 you've expressed in this case? You mentioned the life
17 tables.

18 MS. TOSTI: Aside from the materials he's
19 already mentioned?

20 MR. GOLDSTEIN: *Yes.*

21 BY MR. GOLDSTEIN, CONTINUING:

22 Q. Outside of the materials provided you and if there's any
23 materials like the life table which was not provided to
24 you.

25 A. As a basis for my opinions?

1 Q. Yes, sir.

2 A. No.

3 Q. Or as for any reference to support an opinion.

4 A. No.

5 Q. All right. And you have looked at the depositions of
6 Dr. Atkinson, Dr. **O'Toole**, Nurse Thill, Nurse Hayes. Any
7 others? Did you look at Nurse Soukup's deposition
8 testimony?

9 A. I did not. I don't think you mentioned Dr. Irvin.

10 Q. And, thank you, Dr. Irvin.

11 A. Those were all that I reviewed.

12 Q. Doctor, you indicated and Miss Tosti confirmed that
13 certain items have been removed from the file, matters
14 which Miss Tosti contends are work product. I don't know
15 that they are, and I don't want to go into that
16 particularly here.

17 But I do want to ask *you* this specific
18 question. Were you asked to form an opinion or to offer
19 an opinion as to the potential culpability of any doctors
20 in this case?

21 A. I don't recall. I was asked to evaluate the case
22 generally and identify violations of the standard of care
23 if any were found, but I don't recall her naming any
24 particular individuals.

25 Q. And since I don't know because the items have been

1 removed -- I don't know what the charge was that was
2 given to you in this matter specifically. Okay. And I'd
3 like to know what that charge was specifically, but at
4 this moment I'm going to have to rely solely on your
5 memory unless Counsel wants to produce a letter which
6 would identify what you were charged with in this case.

7 MS. TOSTI: You have the letter he was given as
8 to what he was charged with in this case.

9 MR. GOLDSTEIN: Actually if you'd hand it to
10 me, that would help.

11 MS. TOSTI: (Indicating.)

12 MR. GOLDSTEIN: Thank you.

13 BY MR. GOLDSTEIN, CONTINUING:

14 Q. I'm going to read the letter just so -- I don't think it
15 tells me what you were charged with doing. It's dated
16 January 14th, 2000, addressed to Dr. Persky, and it
17 states in relevant part: Thank you for agreeing to
18 review the medical record of the decedent,
19 William H. Hayes, and for agreeing to reach an expert
20 medical opinion should you find an adequate basis to do
21 so.

22 After you have had an opportunity to review the
23 enclosed materials, I would like to speak with you by
24 phone in our Cleveland office to discuss your findings.
25 Please do not write a report at this time.

1 And the letter concluded by identifying what is
2 enclosed for you to review and then stating: I look
3 forward to speaking with you with regard to your
4 findings. Should you have any questions or need
5 additional information, please do not hesitate to contact
6 me. Thank you, et cetera, Jeanne Tosti.

7 That doesn't tell me what you were asked to do
8 other than to render an opinion. So I don't know if you
9 were asked to render an opinion as to the standard of
10 nursing care in this case, the medical care provided in
11 this case, or the conduct of any individuals in this
12 case, and that is my question to you. Were you asked to
13 provide an opinion as to the medical care provided, the
14 nursing care provided, or the conduct of any individuals?

15 A. What I recall as being the content of my telephone
16 conversations with Miss Tosti as well as any written
17 communication was to review the files and depositions and
18 identify any potential problems which related to the care
19 he received. And because of my background and training I
20 would naturally look at both the facility and clinical
21 personnel involved.

22 So although I can't tell you for sure what I
23 was specifically asked to address at any particular time,
24 I can tell you that. I did look at the care provided by
25 the various staff that were in contact with him.

1 Q. Including the doctors?

2 A. Including the doctors and the nursing personnel. And I
3 feel comfortable in offering the opinions that I have
4 with respect to those.

5 Q. Do you have an opinion as to whether Dr. Larry Irvin was
6 negligent in this case?

7 A. I do.

8 Q. What is your opinion?

9 MS. ROLLER: Objection. And we'll move to
10 strike it and any use at trial as well. Go ahead. It
11 was not previously stated in the report or any
12 supplemental information thereafter. Go ahead.

13 THE WITNESS: I didn't identify any violation
14 of the standard of care with respect to Dr. Irvin, his
15 practice.

16 (Whereupon a discussion was held off the
17 record.)

18 BY MR. GOLDSTEIN, CONTINUING:

19 Q. And the reason --

20 MS. ROLLER: Withdraw the objection.

21 BY MR. GOLDSTEIN, CONTINUING:

22 Q. The reason I am asking you the question is because of
23 your comment to number seven, failure of Judson
24 Retirement personnel to schedule the DVT study as ordered
25 and follow-up to ensure its completion.

1 Doctor, have you been in situations in your
2 practice where a test was ordered for a patient under
3 your care by perhaps a cophysician in the case?

4 A. Can you repeat the question?

5 Q. I could rephrase it perhaps.

6 A. Because I work with faculty colleagues as well as
7 supervising fellows and residents as well as a whole team
8 of people in an outpatient clinic or long-term care
9 setting.

10 Q. Afford me to make the question more specific to
11 Mr. Hayes' case. That might be more appropriate to
12 address.

13 In this case Dr. Hissa was the surgeon who did
14 the bilateral **knee** replacement surgery, correct?

15 A. Correct.

16 Q. Dr. Hissa ordered that the DVT study be done on
17 November 25th, 1997, correct?

18 A. He wrote an order to that effect.

19 Q. I assume the answer to my question is yes then.

20 A. Okay.

21 Q. You agree with it. I want to make sure we're on the same
22 page.

23 MS. TOSTI: He was one of the persons. Is that
24 what you're saying?

25 MR. GOLDSTEIN: I don't know who else could

1 have ordered the study.

2 MS. TOSTI: Well, take a look at the orders,
3 and see who wrote the orders and signed them. I will
4 object to that if you're implying that Dr. Hissa was the
5 only person that ordered that particular DVT study.

6 MR. GOLDSTEIN: I'm just looking to get it
7 right.

8 BY MR. GOLDSTEIN, CONTINUING:

9 Q. Who all ordered a DVT study be done on
10 November 25th, 1997?

11 MS. TOSTI: If you'd like to look at the
12 medical records --

13 MR. GOLDSTEIN: Absolutely.

14 MS. TOSTI: -- go right ahead.

15 THE WITNESS: I don't need to for purposes of
16 this question I don't think. But I believe that
17 Dr. Hissa and colleagues perhaps initiated an order for a
18 DVT study on postoperative day five.

19 BY MR. GOLDSTEIN, CONTINUING:

20 Q. And when you say "colleagues" --

21 A. Well, for example, there may have been a resident or
22 either a colleague or someone under his supervision at
23 the hospital with authority to initiate that from the
24 hospital to be done in the skilled nursing facility.

25 Q. Okay.

I A. And that would be communicated in a combination of ways:
2 written discharge order, possibly verbally as well and so
3 forth.

4 But if I understand the nature of the question,
5 I don't believe that -- well let me start that statement
6 again. I don't know that Dr. Hissa has attending
7 privileges --

8 Q. At Judson.

9 A. -- at the long-term care facility. Judson in this case.

10 Q. Let me then lead into the next part of my question for
11 you. Dr. Hissa and/or colleagues at Meridian Hillcrest
12 Hospital ordered a DVT study to be done on
13 November 25th, 1997, correct?

14 A. Correct.

15 Q. Mr. Hayes was then transferred to Judson Retirement
16 Community on or about November 23rd, 1997, correct?

17 A. Correct.

18 Q. While at Judson Retirement Community Mr. Hayes came under
19 the care of other doctors, correct?

20 A. Correct.

21 Q. Dr. Atkinson for example; is that correct?

22 A. Yes.

23 Q. Do *you* recall who Dr. Atkinson was?

24 A. Dr. O'Toole I believe was the Medical Director at
25 Judson --

Q. Correct.

2 A. -- who had an administrative role at the facility. And
3 Dr. Atkinson as I recall was the attending physician for
4 Mr. Hayes once he was transferred to Judson.

5 Q. Are you able to tell me what the role of an attending
6 physician is particularly as it relates to Mr. Hayes in
7 this case?

8 A. Sure. And it will depend on the particular facility and
9 organization in terms of specific responsibilities.

10 Q. But generally.

11 A. Generally an attending physician is the physician
12 responsible for providing medical assessment and care and
13 participating in team assessment and care of a patient.

14 Q. All right. And in addition to the attending physician
15 there would be other physicians who had a role in the
16 patient's care, correct?

17 A. Absolutely.

18 Q. In this case, for example, Dr. Irvin?

19 A. Correct.

20 Q. Dr. Irvin was a fellow at Judson.

21 A. He was a fellow at the University on a geriatric
22 fellowship with an assignment at Judson.

23 Q. Thanks for being more clear on that.

24 A. All right.

25 Q. So the question that I'm attempting to get to by working

1 through this with you is you have the doctors at Judson
2 who have a responsibility to carry out orders from the
3 doctors at Hillcrest, correct?

4 A. Well, no. Most commonly I would expect that the staff at
5 Judson have a responsibility to carry out the orders as
6 placed in the Judson medical records by the doctors with
7 privileges at Judson.

8 Q. Would the doctors who have privileges at Judson have any
9 responsibility towards following up on the execution of
10 those orders?

11 A. It depends on the nature of the order and circumstance.

12 Q. How about a DVT study on November 25th?

13 A. Can you repeat the question?

14 Q. How about to have a DVT study on November 25th?

15 A. I'm sorry. I want to make sure who you're talking about
16 with regard to the DVT study and what they're doing.

17 Q. Any of the doctors at Judson.

18 A. So if I understand your question, it's whether doctors at
19 Judson --

20 Q. Who are attending to Mr. Hayes.

21 A. -- who are attending to Mr. Hayes have a responsibility
22 to follow-up.

23 (Interruption.)

24 MS. TOSTI: Why don't you reask your question.

25 BY MR. GOLDSTEIN, CONTINUING:

1 Q. Did the doctors at Judson have a responsibility in your
2 opinion to follow-up to ensure that the DVT study which
3 was ordered for November 25, 1997, was in fact done as
4 ordered on November 25th, 1997?

5 MS. ROLLER: Objection. Go ahead.

6 THE WITNESS: No.

7 BY MR. GOLDSTEIN, **CONTINUING:**

8 Q. Would your opinion change if a physician has reviewed the
9 chart, been aware that a DVT study was to be done on that
10 day, then met with the patient on the day following
11 November 25th?

12 A. Not based on my review of the medical record. There
13 might be circumstances where the clinical history and
14 exam might identify this as a clinically urgent problem
15 which the standard of care would require them to perform
16 some sort of follow-up or even send a patient to the
17 emergency room to have the study done, but I didn't
18 identify any such extenuating circumstance in the medical
19 record.

20 Q. You would agree that Mr. Hayes was at high risk for DVTs
21 following bilateral knee replacement surgery.

22 A. Absolutely.

23 Q. And you would expect that the doctors caring for
24 Mr. Hayes would know that.

25 A. I would.

1 Q. You would agree that the DVT study was an important
2 diagnostic tool in determining whether or not Mr. Hayes
3 was at risk of having a thrombus.

4 A. Yes, recognizing that there might be other clinically
5 relevant reasons to get the DVT study as well.

6 Q. And notwithstanding those realizations you don't feel
7 that any of the doctors at Judson had an obligation to
8 follow-up to make sure that DVT study was done?

9 A. Correct. Because --

10 Q. Why?

11 A. --- as I've thought about this, physicians order tests
12 all **the** time, CAT scans, DVT scans, blood tests, x-rays,
13 and it is the standard of care and the implicit
14 assumption that when those orders are written and clearly
15 communicated that the facility will act on them and/or
16 communicate if there's a problem in carrying them out as
17 ordered. And the physicians would have to spend all
18 their time double-checking to make sure that the clerks
19 and nurses were doing their jobs and having no time to
20 act as physicians if that were required of them.

21 So it clearly is not their job even though we
22 may try to monitor some of those things. It is not the
23 responsibility of the physician to do so.

24 Q. You have indicated to us the medical conditions that you
25 were aware of which Mr. Hayes had at the time of his

1 **admission** to Judson, correct?

2 A. Correct.

3 Q. Now incidentally you're offering an opinion in this case
4 as to the standard of nursing care at Judson Retirement
5 Community.

6 A. That may well be.

7 Q. You are not a Registered Nurse, correct?

8 A. That's correct.

9 Q. You have never gone to nursing school; is that correct?

10 A. That's correct.

11 Q. And you are not aware of the standard of the State of
12 Ohio as to who may offer an opinion as to the negligence
13 of nurses in a nursing negligence case, are you, sir?

14 A. No. But there probably are some relevant qualifications
15 that you might want to know about with regard to nursing
16 practicing standards.

17 Q. In the State of Ohio?

18 A. Generally as well as in the State of Ohio.

19 Q. Okay.

20 a. And just in terms of my background, my training and
21 administrative and clinical responsibilities lead me to
22 participate in interdisciplinary teaching with nursing
23 colleagues, to be responsible for teaching nursing
24 students, clinical nurse practitioner students, in both
25 clinical and didactic formats as well as supervising

1 their practice in a variety of settings including
2 long-term care settings, community settings, outpatient
3 practice and inpatient practice.

4 So I just mention that in terms of describing
5 some background related to being involved in teaching,
6 clinical supervision, clinical training of nurses at
7 different levels as well as an emphasis on
8 interdisciplinary practice and training as well as
9 administrative responsibility of people in different
10 disciplines including nurses in a variety of settings.

11 Q. You're not a medical director of a nursing home, are you,
12 sir?

13 A. I'm not. I am --

14 Q. I'm sorry.

15 A. I am Associate Director for the Geriatric Center with
16 responsibility for clinical programs which does give me
17 administrative responsibility for long-term care settings
18 among others.

19 Q. You don't have any ownership interest in any nursing
20 homes here in Michigan, do you?

21 A. No.

22 Q. Or anywhere else?

23 a. No. Ohio or anywhere else.

24 Q. In reviewing the medical record of Mr. Hayes did you see
25 any contraindication for him having bilateral knee

1 replacement surgery?

2 A. I didn't see an absolute contraindication. There's
3 always risks as well as benefits to be weighed.

4 I recall he was evaluated for preoperative
5 clearance and had some cardiology and testing done and
6 was given clearance for the surgery which he went through
7 fine in terms of the inpatient portion.

8 Q. I noted from a review of the records, Dr. Persky, that
9 Mr. Hayes was expectorating some brown sputum at
10 Hillcrest. Are you aware of that?

11 A. I am.

12 Q. What if any significance do you attach to that at the
13 point when it was discovered at Hillcrest?

14 A. Well it certainly is an interesting and potentially
15 important clinical finding in terms of the conclusion I
16 believe Dr. Atkinson drew that it reflected bronchitis.
17 But it also in retrospect raises the possibility that it
18 may have been hemoptysis related to pulmonary emboli.

19 Q. I sensed -- and I don't want to put any words in your
20 mouth or draw an erroneous conclusion. I sensed you may
21 not have agreed with Dr. Atkinson's conclusion about
22 bronchitis.

23 A. I think it was a reasonable conclusion based on the
24 information available to her at the time. For example,
25 as I recall, in addition to the brown sputum there was a

1 low-grade temperature, he was coughing, and there were no
2 compelling signs of phlebitis at that time. So
3 bronchitis was not an unreasonable conclusion for her to
4 draw at least as a preliminary diagnosis.

5 Q. Do you see any indication as to whether or not Dr. Hissa
6 was made aware of the existence of the brown sputum?

7 A. I don't recall that.

8 Q. Do you think he should have been made aware of it?

9 A. I'd need to look at the particular references in the
10 medical record to comment --

11 Q. Okay.

12 A. -- at Judson.

13 (Whereupon a discussion was held off the
14 record.)

15 BY MR. GOLDSTEIN, CONTINUING:

16 Q. The question is whether or not you saw any records from
17 Hillcrest indicating the presence of the brown sputum.

18 MS. TOSTI: Oh, at Hillcrest.

19 MR. GOLDSTEIN: Yes.

20 THE WITNESS: And I'm not recalling those. So
21 if you can refer me to any particular reference.

22 BY MR. GOLDSTEIN, CONTINUING:

23 Q. I looked through the Hillcrest records, and I couldn't
24 find any reference. Perhaps the only question is whether
25 or not the brown sputum originated while Mr. Hayes was a

1 patient at Judson, and I don't know if you can comment on
2 that or not.

3 MS. TOSTI: Do you have another reference you
4 want him to look at?

5 MR. GOLDSTEIN: I don't, Jeanne.

6 THE WITNESS: I don't recall brown sputum
7 production in the hospital. But if that had been
8 reported to the attending physician in the hospital, it
9 is entirely possible that they would have concluded as
10 Dr. Atkinson did that bronchitis is the likelihood. I
11 don't know.

12 BY MR. GOLDSTEIN, CONTINUING:

13 Q. Are there other tests that can be done to verify if that
14 was or was not bronchitis?

15 A. There are not any great tests to diagnose bronchitis.
16 It's a clinical diagnosis and clinical treatment.

17 And if one had a strong clinical suspicion of
18 pulmonary embolus in association with brown sputum, then
19 there are tests that you can do to diagnose either DVT or
20 pulmonary emboli if khat was clinically indicated.

21 Q. What tests could be done?

22 A. Well I described a series of ones that could be done to
23 evaluate the possibility of DVT.

24 One can also look at the lungs with a test
25 called a ventilation profusion scan which is sometimes

done or if necessary a pulmonary angiogram with contrast
and x-ray picture.

Q. Doctor, based on your review of the Hillcrest Hospital records did you see any sign or symptoms of a deep vein thrombosis while Mr. Hayes was at Hillcrest?

A. None of a compelling nature.

Q. There was a notation in the supplemental nursing notes that a nurse was unable to dopple an OD pulse on the right foot. First off what's OD?

A. Can you show me the reference?

Q. I can actually. Doctor, I'm going to hand you the supplemental nurse's note from Hillcrest Hospital. It's not easily identifiable by page or description, but you're welcome to look at it. About halfway down the page you'll see the entry unable to dopple OD pulse.

A. Yes. And pulses are in the arteries --

Q. Yes.

A. -- and aren't related to phlebitis or DVTs. They wouldn't be affected one way or the other by that.

Q. Because the DVTs are in the veins.

A. That's right. And the soft tissue. And so I wouldn't take the lack or presence of a pulse as directly relevant.

Q. You indicated in response to my prior question about any signs or symptoms of a DVT at the hospital that there

were none that you felt were significant. What were

present that you felt were insignificant?

A. I didn't say that there were. So I would need you to --

Q. You're not aware of anything?

A. I'm not recalling anything.

Q. Is a positive Homans' sign an indication of a DVT?

A. Not a reliable indicator. It's not sensitive or specific. We commonly teach people not to do it since there is not much point.

Q. Was Mr. Hayes on coumadin or heparin therapy at the time he was admitted to Judson Retirement Community?

(Whereupon a discussion was held off the record.)

THE WITNESS: Are you specifically referring to the time of transfer meaning the medicines he was receiving from the hospital, or are you referring to the medicines that were ordered and presumably administered to him at Judson?

BY MR. GOLDSTEIN, CONTINUING:

Q. I am asking you specifically was he on heparin or coumadin while a patient at Hillcrest Hospital.

MS. TOSTI: At Hillcrest?

MR. GOLDSTEIN: Yes.

THE WITNESS: I thought you said something about Judson.

1 BY MR. GOLDSTEIN, CONTINUING:

2 Q. I'm clarifying it. It's getting late. I may have blown
3 the question, but it's clear now.

4 A. I don't have a specific recollection of his being on
5 either at least in anticoagulant doses, but we could
6 certainly review.

7 MS. TOSTI: (Indicating.) There should be a
8 section on medications in here that you would be able to
9 look at in these sheets. Are we in the Hillcrest
10 records? Go to the front, Doctor.

11 BY MR. GOLDSTEIN, CONTINUING:

12 Q. (Indicating.) I'll represent to you those are Hillcrest,
13 Doctor. And if you see a mistake, feel free to point it
14 out to me.

15 MS. TOSTI: Doctor, here are the medication
16 sheets, and here's the section, the first page, and the
17 records right there.

18 THE WITNESS: And in addition to not recalling
19 him being on those medications I don't identify either
20 heparin or coumadin on the medication records from the
21 hospital that you just showed me.

22 BY MR. GOLDSTEIN, CONTINUING:

23 Q. Let me broaden the question, because I've only identified
24 two of the anticoagulant drugs which you identified early
25 in your deposition. To your knowledge was he on any

1 anticoagulant therapy while a patient at Hillcrest?

2 A. That wasn't a specific focus of my review, and I don't
3 recall him being on anticoagulants. I recall in the
4 preop he had been off of aspirin for some time. I don't
5 recall for sure whether they had given him subcu heparin,
6 but we should be able to determine that.

7 Q. That would be in the operative note?

8 A. Medication orders and medication records would be the
9 places I would look for that.

10 Q. Would you please take a minute to do that?

11 MS. TOSTI: (Indicating.) These are the IV
12 sheets and medications right there.

13 THE WITNESS: These are what we've just looked
14 at. This is my copy of the records you've seen. And I
15 can look up the doctor's order as well.

16 BY MR. GOLDSTEIN, CONTINUING:

17 Q. Whatever will help you answer that question I'm grateful
18 for your assistance.

19 A. Sure, sure. I see no orders for heparin or coumadin.
20 Just to be completely accurate I note the IV flush was
21 ordered, and sometimes that is a heparinized solution but
22 not enough to be of significance as an anticoagulant.

23 Q. Doctor, in a situation where a patient receives bilateral
24 knee replacement surgery, and understanding based on your
25 testimony in part that the risk of a DVT is greater in

1 those patients and in particular with Mr. Hayes, is there
2 any reason that the prophylactic administration of an
3 anticoagulant would not be indicated?

4 A. *Yes.*

5 Q. In Mr. Hayes' case was there any reason why he should not
6 have received prophylactic anticoagulant therapy?

7 A. Well there are *a* lot of different strategies to reduce
8 various postoperative and perioperative complications,
9 and generally I would leave that in the hands of the
10 orthopedist doing a bilateral total knee replacement.

11 But from the perspective of an internist in
12 geriatrics I can talk to *you* about various strategies
13 that have been tried at different times to reduce the
14 risk of postoperative DVTs and pulmonary emboli.

15 Q. What strategies were employed with regard to Mr. Hayes?

16 A. With regard to Mr. Hayes as I recall he was given both
17 TED hose and sequential compression stockings which are
18 felt to be a very good prophylactic measure to reduce the
19 **risk** of DVTs and pulmonary emboli.

20 Q. Are you done answering?

21 A. I can be. I don't know how much of a clinical background
22 you want regarding different strategies that have been
23 tried as enoxaparin, coumadin, and then doing DVT scans,
24 heparinization. There's a lot of different protocols
25 that have been used and the risks and benefits evaluated

in different subgroups of patients.

2 Q. With regard to Mr. Hayes, do you feel based on your
3 education, training, and experience that he should have
4 had additional steps taken in his care to reduce the
5 chance of having an embolism or a thrombus?

6 A. Yes. First and foremost I think the DVT study should
7 have been done on postoperative day five as ordered.

8 Q. Right.

9 A. And from my understanding of these issues that could have
10 been sufficient to uphold the standard of care.

11 Q. All right. So you don't have a problem from the
12 standpoint of a doctor reviewing this case that he was
13 not given prophylactic coumadin or heparin or some other
14 anticoagulant?

15 A. No, I didn't.

16 Q. You're comfortable with the fact that he was given
17 stockings to wear?

18 A. Yes.

19 Q. And then the DVT study was ordered for post-op day five.

20 A. Correct.

21 Q. Doctor, with respect to the report that you authored in
22 this case, were there any drafts of that report. which
23 preceded the one that was submitted to us in this case?

24 A. I believe that I did on my computer prepare a draft as I
25 was finalizing my review at that point, and so there may

have been one other version that I saved that would have
2 been very similar. Maybe I broke one point into two or
3 something like that. But that's as much of a variation
4 as I would expect, one point of difference.

5 Q. Would you have sent any of those prior drafts to
6 Plaintiff's counsel in this case?

7 A. I may have, and she could probably answer whether I did
8 in fact do that or not.

9 Q. Although it's inappropriate for me to Cross-Examine her.
10 So I'm asking you did you do so.

11 A. You know, when I **was** looking through my computer files, I
12 did print out my report so that I could review that in
13 conjunction with the medical records. And I'm pretty
14 sure that I had saved another version of it, and I took
15 the latter one which I knew was on the basis of my
16 further review.

17 Q. Were you asked to make any changes in the report that you
18 had submitted originally to Plaintiff's counsel in this
19 case?

20 A. I don't expect I would have been asked to change any
21 opinion, but there's a possibility that I was asked to
22 clarify a point or address a point, whatever my opinion
23 would have been.

24 Q. I take it you don't have a copy of that draft here with
25 you today?

1 A. I don't. But if my recollection is correct that I have
2 both versions on my computer at home, I could easily
3 print one and provide it.

4 Q. I'd ask that you do it and give it to Miss Tosti, mail it
5 to her or whatever, and she'll forward it to me.

6 A. Fine.

7 Q. Thank you, sir.

8 The opinions that you're going to offer in this
9 **case** are **set** forth in your report, **correct?**

10 A. **As** we discussed earlier, I continue to endorse the
11 opinions in the report and can elaborate on those as
12 needed or if you have other questions. But I think we've
13 covered the opinions I think of as being particularly
14 important both in my report and our discussion today.

15 Q. I appreciate that.

16 In the autopsy of Mr. Hayes there's a finding
17 that he had T-U-R-P. Could *you* tell me what TURP is?

18 A. Transurethral resection of the prostate. That was the
19 prostate surgery that he had had in 1995. That was the
20 prostate surgery that he had I believe it was in 1995.

21 MS. TOSTI: I believe it was later than that.

22 MS. ROLLER: Ninety-seven.

23 THE WITNESS: Okay.

24 MR. GOLDSTEIN: Thank you for correcting me.

25 BY MR. GOLDSTEIN, CONTINUING:

1 Q. Doctor I assume there's some charge for your time here
2 today?

3 A. Correct.

4 Q. Would you share with me, please, and Ms. Roller what that
5 charge is?

6 A. Sure. I'm charging \$400 an hour for deposition time.

7 MS. ROLLER: It's always best we agree upon how
8 much time you've spent.

9 BY MR. GOLDSTEIN, CONTINUING:

10 Q. We started at 2 o'clock today. It's ten to 5:00.

11 A. Okay.

12 Q. I'm about done.

13 A. Good.

14 MS. ROLLER: Two to five; is that fair?

15 MS. TOSTI: Three hours.

16 THE WITNESS: If we conclude by that.

17 BY MR. GOLDSTEIN, CONTINUING:

18 Q. And I am just about done. Give me a second to review my
19 notes.

20 A. I wouldn't charge you for travel time to my family in
21 Chicago.

22 Q. Thank you.

23 I guess I want to then finish with one
24 question. And I don't want to beat a dead horse, because
25 Miss Roller questioned you about life expectancy.

1 Perhaps as we conclude you can clarify that.

2 My question is, Doctor, when you prepared your
3 report and you offered the opinion that his life
4 expectancy was at least four years, you had at your
5 disposal all of the materials that you have before you
6 today, correct?

7 A. I believe so.

8 Q. That's your testimony so far today.

9 MS. TOSTI: Except for -- never mind.

10 BY MR. GOLDSTEIN, CONTINUING:

11 Q. Thank you. Without having reviewed any additional
12 materials your opinion changed as to the life expectancy,
13 or is that a misrepresentation of your testimony? I
14 don't want to misrepresent anything. I want to make sure
15 I understand. I perceived there was a change in your
16 opinion. That you gave a greater opinion as to the life
17 expectancy than *you* originally did.

18 And my question to you and I guess stated
19 differently is without any additional items why did your
20 opinion change?

21 A. My opinion didn't change. And we only touched on this
22 briefly, Gut I intended to do a focused review and to
23 limit the amount of time and charges related to my review
24 as needed and, therefore, when asked to address the issue
25 of life expectancy put into the report a figure that I

1 was completely confident of and was confident that would
2 not be inaccurate.

3 Based on further review as we came to the
4 current date in preparation for my deposition I did a
5 more detailed review of certain aspects and addressed
6 things that I identified as being of interest to this
7 matter based on the depositions and further consideration
8 and, therefore, looked **more** closely at some of the more
9 detailed things that we described.

10 I don't feel in any way that I changed my
11 opinion. At least four years means it could be anywhere
12 upward from there. I'm sorry if that was misunderstood
13 as pinpointing it at that level.

14 Q. But you've now offered the opinion that it was somewhere
15 between six and ten years.

16 A. That is correct.

17 MS. ROLLER: I just want to follow-up on that
18 particular point.

19 RE-EXAMINATION

20 BY MS. ROLLER:

21 Q. I just want to know if there was any handwriting. Did
22 you do any calculation, any analysis to come to a
23 different stated position than was in your report?

24 A. Not anything written.

25 (Whereupon a discussion was held off the

1 record.)

2 MR. GOLDSTEIN: Doctor, I don't have anything
3 else to ask you at this time. I would reiterate the
4 request of Counsel to have the Doctor's notes copied so
5 that we may take them with us and ask you to produce your
6 second draft or your first draft to Miss Tosti for
7 forwarding to Counsel.

8 With that I would conclude my questioning of
9 you, and thank you for your time.

10 MS. ROLLER: I have no other questions either.

11 MR. GOLDSTEIN: To make sure that the record is
12 clear we're going to ask that the Reporter mark the notes
13 of Doctor Persky as Exhibit D to the deposition. And I
14 don't believe there are any other exhibits which require
15 marking for our purposes here today.

16 MS. TOSTI: And the Doctor would like to read
17 and sign his deposition.

18 (The deposition adjourned at or about 5:03
19 p.m.)

20 (Whereupon Deposition Exhibit D was marked for
21 identification by the Notary Public and is
22 attached.)


1 STATE OF MICHIGAN)
) SS.
2 COUNTY OF WASHTENAW)

3
4 CERTIFICATE OF NOTARY PUBLIC

5 I certify that this transcript is a
6 complete, true and correct record of the testimony of
7 the deponent to the best of my ability taken on
8 October 4, 2000.

9 I also certify that prior to taking
10 this deposition the witness was duly sworn by me to
11 tell the truth.

12 I also certify that I am not a
13 relative or employee of a party, or a relative or
14 employee of an attorney for a party, have a contract
15 with a party, or am financially interested in the
16 action.

17
18 
19 _____
20 Vicki L. Rodriguez, B.S., M.Ed., CSR-3303
21 Notary Public, Washtenaw County
22 State of Michigan
23 Commission expires October 15, 2001
24
25

Huron Reporting Services
623 West Huron
Ann Arbor, MI 48103

October 11, 2000

Neal W. Persky, M.D., M.P.H.
University of Michigan Hospital
Department of Internal Medicine
Division of Geriatric Medicine
1439 East Park Place
Ann Arbor, MI 48104

RE: June M. Hayes, et al., vs Judson Retirement Community,
et al.


Dear Dr. Persky:

Enclosed is a complimentary copy of the transcript of your deposition testimony taken on Wednesday, October 4, 2000, in the above-captioned case for you to read and sign.

Please note any corrections on the enclosed errata sheets and return them to our office along with the enclosed signature sheet. There is a self-addressed envelope enclosed for you to return them in. Any corrections will be forwarded to the other attorneys in the case,

If you have any questions regarding this transcript, you may call our office between the hours of 8 a.m. and 5 p.m. Monday through Friday. Our phone number is 734-761-5328.

Sincerely,



Vicki L. Rodriguez
B.S., M.Ed., CSR

cc: Ms. Jeanne Tosti
Ms. Jan Roller
Mr. Bruce Goldstein

Enclosures

ERRATA SHEET

1/26/00

I, Neal W. Persky, have read the entire transcript of my deposition taken on the 4th day of October, 2000; or the same has been read to me. I request that the following changes be entered upon the record for the reasons indicated. I have signed my name to the signature page and authorize you to attach the same to the original transcript.

PAGE	LINE	CORRECTION OR CHANGE AND REASON THEREFORE
9	17	"Ms." not "Miss"
11	3	"
13	2	"
15	23	"
9	25	"Yes" not "Yeah"
12	9	"
9	7	"or" not "and"
21	15	"in formal" not "and formal"
25	12	"the statements" not "statements"
27	15	"when" not "then"
31	4	"tests" not "test"
40	11	extensive not expensive
57	12	"the aortic" not "the left aortic"
63	6	"peripheral vascular" not "vascular"
66	4	"had not finalized" not "not finalize"
67	3	"and an average" not "and average"
91	2	"pictures" not "picture"
94	5	"sub q" not "sub c"
95	23	"tried such as" not "tried as"

11/9/00
Date

F:\karen\MSOFFICE\FORMS\ERRATA.SHT

Neal Persky

Neal W. Persky, M.D.
"Persky" not "Perksy"