

IN THE COURT OF COMMON PLEASCUYAHOGA COUNTY, OHIO

SHERLEEN WYNN,

Plaintiff,

-VS-

JUDGE McMANAMON
CASE NO. 187066CARL A. ROBSON, M.D. AND
L & D FAMILY MEDICINE,

Defendants.

DOC. 370

- - - -

Deposition of ERWIN R. RABIN, M.D., taken as
if upon cross-examination before Aneta I. Fine,
a Registered Professional Reporter and Notary
Public within and for the State of Ohio, at the
Meridia Huron Hospital, 13951 Terrace Road,
Cleveland, Ohio, at 5:30 p.m. on Thursday, May
16, 1991, pursuant to notice and/or stipulations
of counsel, on behalf of the Plaintiff in this
cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

APPEARANCES:

Charles I. Kampinski, Esq.
Christopher M. Mellino, Esq.
Charles I. Kampinski Co., L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiff;

Anthony P. Dapore, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of the Defendants
Carl A. Robson, M.D. and L & D
Family Medicine;

William D. Bonezzi, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of the Defendant
Mt. Sinai Pathology;

Marc W. Groedel, Esq.
Reminger & Reminger
Seventh Floor - 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,

On behalf of the Defendant
Edward Siegler, M.D. and
Erwin R. Rabin, M.D.

Johanna Sfiscko, Esq.
Xitchen, Deery & Barnhouse
1100 Illuminating Building
Cleveland, Ohio 44113
(216) 241-5614,

On behalf of the Defendant
Mt. Sinai Hospital;

1 ERWIN R. RABIN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF ERWIN R. RABIN, M.D.

8 BY MR. KAMPINSKI:

9 Q. Okay. Doctor, would you state your full name,
10 please?

11 A. Erwin R. Rabin.

12 Q. And spell your last name, doctor.

13 A. R A B I N.

14 Q. I'm going to ask you a number of questions this
15 afternoon. If you don't understand any of them,
16 please tell me; I'll be happy to rephrase
17 anything you don't understand.

18 When you respond to my questions please do
19 so verbally. She's going to take down
20 everything we say, she can't take down a nod of
21 your head, okay?

22 A. We'll try to follow those explicit instructions.

23 Q. Thank you. Do you have a CV, doctor?

24 A. Just so happen to have gotten a cv prepared.

25 Q. Thank you. To what extent have you interacted

1 with Dr. Siegler in a professional context? I
2 mean I know that the two of you are part of or
3 members of the same groups, correct?

4 A. We are pathologists, and we have seen each other
5 on occasion at pathology meetings, local
6 pathology meetings.

7 Q. Which group would that be, doctor?

8 A. Cleveland Society of Pathology.

9 Q. Okay. And have the two of you held office in
10 that group?

11 A. I held office. I don't know if Dr. Siegler did.

12 Q. What office did you hold?

13 A. President.

14i Q. President. And when was that --

151 A. It's --

16 Q. I haven't had a chance it absorb all this
17 obviously.

18 A. Let me go back and refresh my memory, if you
19 want, It says '84, '85.

20 Q. Okay. And did Dr. Siegler hold any office at
21 that time?

22 A. I don't believe so. I don't believe he has been
23 very active in that group.

24 Q. Okay. As president would you have been elected
25 by the members of that group?

1 A. Yes.

2 Q. And did you have any interaction with him while
3 you were president as you recall?

4 A. Very little. Nothing of any consequence.

5 Q. Have you been on any committees in that group
6 together?

7 A. Not that I'm aware of. We were working with
8 Blue Cross in terms of getting some
9 reimbursement. I think he was interested in
10 that although I am not sure that he was active,
13 actively participating.

2 . When was that?

13 F. 'That was during my presidency, in '84, '85.

14 Q. In other words, trying to establish some type of
15 policy as it related to how you'd be paid?

16 A. Right. Reimbursements. That's my recollection.

17 Q. Was there a formal committee?

18 A. I don't remember. But there were a number of
19 active members that were working with me in that
20 area.

21 Q. And you seem to think that Dr. Siegler was
22 active?

23 A. Was not.

24 Q. Was not active?

25 A. But he may have given funds for that endeavor.

1 I don't remember exactly.

2 Q. I see. Any other organizations that the two of
3 you belong to?

4 A. We're both members of the Jewish Community
5 Center, and I see him there infrequently, but I
6 see him in the health club infrequently and I
7 think we both belong to the same temple.

8 Q. Which is?

9 A. The Temple.

10 Q. The Temple. Do you socialize together?

11 A. No. We don't, not at all.

12 Q. How old are you, doctor?

13 A. I was recently 60.

14i Q. Okay. Do you know how old Dr. Siegler is?

15 A. No. But he -- I think he is of that vintage.

16 Q. Do any of your family members socialize with any
17 family members of his?

18 A. No.

19 Q. Children, grandchildren, wives?

20 A. No.

21 Q. Okay. You live where, doctor?

22 A. Bratenahl Place, 2 Bratenahl Place.

23 Q. All right. You started here at Huron Road when?

24 A. '76.

25 Q. All right. And Dr. Siegler had been here right

1 before that time?

2 A. That is correct.

3 Q. Did you take over his position?

4 A. I did.

5 Q. And was there any interrelationship at that time
6 as far as the transition?

7 A. Not that I remember. I have been told that
8 perhaps he stayed on for a week or so to help me
9 but I have no recollection of that.

10 Q. Okay. Have you ever acted as an expert witness
11 before, doctor'?

12 . Yes.

13 . All right. And how many times would you say you,
14 have?

15' A. Very few.

16 Q. Well, are we talking five, ten, 20?

17 A. Less than five,

18 Q. Okay. And have they been for the defendant?

19 A. For the defendant? No, I think that acted as an
20 expert witness a couple of times and plaintiffs
21 in terms of asbestos cases, although just once
22 that I recall, and then I was a deputy coroner
23 in Topeka, Kansas in '74 to '76, and I think I
24 made a few court appearances regarding my duties
25 there, just how many, I don't remember at this

1 point, but not very many.

2 Q. Okay. How about since you have been here in
3 Cleveland, other than the asbestos case?

4 A. I have testified at some -- on some laboratory
5 examinations relating to alleged rape but I was
6 never, I think, deemed an expert witness there
7 because I was never reimbursed in that manner.

8 Q. Okay. How about in any malpractice cases, have
9 you ever testified?

10 A. Not that I'm aware.

11 Q. All right.

12 A. I will have to think about that for a minute,
13 that I'm aware of.

14 Q. All right. Have you yourself ever been sued?

15 A. You know, when I was a resident I did in New
16 Haven, Connecticut. I think that I did give
17 some testimony relating to a specimen relating
18 to an abortion. If that was a mal -- I am not
19 sure that's a malpractice case.

20 Q. Okay.

21 A. But that's searching.

22 Q. Okay.

23 A. Okay.

24 Q. Have you yourself ever been a defendant in a
25 lawsuit?

1 MR. GROEDEL: Objection. You may
2 answer.

3 A. Okay. I was a defendant in a lawsuit in Topeka,
4 Kansas for an event that occurred two years
5 prior to myself joining this group. So I really
6 wasn't involved in the incident in any way,
7 shape or form, and I deeply resented having been
8 named on that but I was still part of this group
9 and such was named.

10 Q. I see. So all the members of the group were
11 named?

12 A. Were named. And I was named. Whatever happened
13 in that case, I don't know, but I left Kansas
14 and I was able to sell my house so something
15 happened and I was not held liable for that in
16 any way, shape or form, or at least maybe they
17 settled it. I have never really realized what
18 transpired on that.

19 Q. You are not relating your ability to sell your
20 home to the fact that you got out?

21 A. Well, I think that if there was some type of
22 judgment on myself it would have been difficult
23 for me to --

24 Q. Well, did you ever go to court?

25 A. No.

1 Q. I mean I assume there was insurance coverage for
2 your group?

3 A. Absolutely, right. But I don't know what
4 transpired but during the course of that action
5 it would have been difficult for me to think to
6 sell my house, from what I gather. Because I
7 think we had a potential buyer at the time.

8 Q. Of the group?

9 A. No, for the house. Those are ramblings.

10 Q. Were the allegations against your group failure
11 to appropriately diagnose slides?

12 A. Was a blood bank incident.

13 Q. I see.

14 A. Mismatch, transfusion.

15 Q. Okay.

16 A. Very serious.

17 Q. All right. Okay. Give me just one moment to
18 look through this.

19 A. Go right ahead.

20 Q. It indicates you are the chairman in the
21 Department of Pathology from '76 to the present?

22 A. Correct.

23 Q. All right. What does the Department of
24 Pathology comprise here? I mean, for example,
25 how many physicians are employed by the

1 Department of Pathology?

2 A. At the present time I have one partner so there
3 would be two physicians in our group.

4 Q. Okay. So --

5 A. Two pathologists.

6 Q. Okay. When you say at the present time, how
7 long is that?

8 A. Well, before we had three and when I first got
9 here there were more but we have restructured.

10 Q. Okay. So currently there is yourself and one
11 other?

12 A. One other colleague who is a partner.

13 Q. When you say partner are you part of a group?

14 A. We're part of a corporation.

15 Q. And the name of your corporation'?

16 A. Is Pathmark, Incorporated.

17 Q. I'm sorry?

18 A. P A T H M A R K, Pathmark, Xnc.

19 Q. Okay. And how long --

20 A. And that's one word.

21 Q. Pathmark, okay.

22 A. All right.

23 Q. How long has that corporation been in existence?

24 A. I think since '79.

25 Q. All right. And I take it the corporation has a

1 contract with Huron Road to provide pathology
2 services?

3 A. It is not a written contract at the present
4 time.

5 Q. All right. But at one time it was?

6 A. Well, for a short period of time it's always
7 been implicit.

8 Q. Just oral?

9 A. Oral.

10 Q. And you are, I take it you are a shareholder of
11 Pathmark?

12; A. Yes.

13 Q. And are you also the president, CEO?

14 A. I'm the president. Well, I'm the president.

15 Q. And an employee?

16 A. An employee.

17 Q. Are you also an employee of Huron Road?

18 A. No.

19 Q. Okay.

20 A. And it's not Huron Road.

21 Q. It's Meridia Huron?

22 A. Correct.

23 Q. Okay. Used to be Huron Road?

24 A. It formerly.

25 Q. I'm sorry, I'm still in the old habit.

1 A. That's okay. We find some of our **loyal** friends
2 in the same situation, if you would.

3 Q. It indicates that you're an assistant clinical
4 professor at Case Western?

5 A. Correct.

6 Q. And how often do you teach at Case Western?

7 A. They have committees, and I have been on the, I
8 think infection committee now for the last
9 three, four years, and it's over a period I
10 think generally six weeks and generally around
11 six sessions, four to six sessions where you go
12 into small groups and --

13 Q. Well, I don't understand. I mean do you teach
14 students at Case Western?

15 A. Correct.

16 Q. Okay.

17 A. And medical students.

18 Q. All right.

19 A. And there are small groups of students and you
20 are assigned to them and they have assignments
21 in terms of looking at slides and reviewing them
22 and you answer questions and you also go over
23 photomicrographs with them.

24 Q. Okay. And this would be **from** September through
25 July?

2 through the middle of March or somewhere around

3

4 Q. So that's about a month and a half then?

5 A. Yes. It's a month and a half but it's only a
6 number of sessions, a small number of sessions.

7 Q. Four to six I think you said?

8 A. Four to six and they're two hour sessions,
9 generally, somewhere in that area.

10 Q. And how many students would be involved?

11 A. Well, we have --

12 Q. In any one session?

13 A. 20 or 30 in your little area, sometimes more,
14 sometimes less.

15 Q. And would these students come back for all six

18 A. No. The students stay the same. Generally the
19 people who assist may not make a session or two
20 but usually we're there with the same students.

21 Q. Okay. And your function is to do what with

25 the microscope and also to review slides that

1 relate to the topics that they're currently
2 studying.

3 Q. All right. Let me see if I understand. These
41 would be medical students?

5 A. Correct.

6 Q. Would they be any particular year of study?

7 A. The freshman year.

8 Q. Freshman year?

9 A. At this point. I have been on any number of
10 committees but lately I have been on the
11 freshman.

12 Q. So they're just being exposed to medicine their
13 first year?

14 A. They are not jaded, right.

15 Q. And your function for these four to six sessions,
16 is what, to give them just an introduction to
17 pathology?

18 A. Let me give you an example.

19 Q. Okay.

21 pneumonia, and they will look at
22 photomicrographs and I will ask them questions
23 generally about what they see, trying to elicit
24 the pertinent features of pneumonia, and then
25 during the course of the session they'll put

1 slides under their microscope very similar to
2 what or identical, what they have seen on the
3 photomicrographs, and they'll then try and make
4 observations and then raise their hand and ask
5 you such and such is the case. We'll then
6 discuss those features.

7 Q. Okay. Is this something that they're learning
8 in the classroom and then you then come in and
9 more or less --

10 A. They have a lecture generally that is
11 relating --

12 Q. Okay.

13 A. -- to the pneumonia and then they will have a
14 sort of a hands-on.

15 Q. I see.

16 A. Sort of workshop.

17 Q. So you provide the hands-on workshop?

18 A. That's correct.

19 Q. Okay. Any other duties related to your teaching
20 at Case Western or is that basically it?

21 A. That's basically it. I think I served once as
22 the volunteer person for their promotions but
23 that was a one time only. It really doesn't
24 relate.

25 Q. When you say promotions --

4 there, I couldn't figure that out but I did

6 Q. Why did you leave Kansas?

7 A. Well, there was this irresistible job in
8 Cleveland and also the job in Kansas
9 was -- I didn't think lead anywhere so those two
10 things, the opportunity here in Cleveland and
11 the fact that the job in Kansas was not as far
12 as I was concerned providing the opportunities
13 that I had anticipated.

14 Q. Okay. Is there any agreement or understanding
15 amongst the pathologists in the Cleveland
16 Society of Pathologists whereby if one of them
17 is sued or in trouble he can look to other
18 members of that organization for assistance?

19 A. No.

20 Q. How is it that you got involved in this case?

21 A. Dr. Mendelsohn called me and --

22 Q. Who is he?

23 A. Dr. Mendelsohn I think is chief at Mt. Sinai
24 Hospital at the present time, and indicated that
25 would I look at some material relating to this

1 issue, and I said yes, I would.

2 Q. How is it that you know Dr. Mendelsohn?

3 A. We're colleagues, and he is currently on the
4 Board of Governors, I think, of the CSP, and I
5 have known him from -- he was at University
6 Hospitals, and he's got a very fine reputation
7 in the community. I have sent him some material
8 on occasion, so we have established I think a
9 relationship of respect with one another.

10 Q. Okay. When you say chief at Mt. Sinai, chief of
11 what?

12 A. Pathology.

13 Q. I see.

14 A. I am not sure of his exact title but I believe
15 that he is the --

16 Q. What is his relationship with Dr. Siegler? Do
17 you know?

18 A. Well, I think currently Dr. Siegler works in
19 that department.

20 Q. Well, are they part of the same corporation that
21 provides services there or do you know?

22 A. I don't know that. I do not know that.

23 Q. Doctor, is the last publication that you had
24 1985?

25 A. Correct.

1 Q. So this is a current CV?

2 A. This is a current CV.

3 Q. And the one before that had been 1979?

4 A. Correct.

5 Q. Do you have any publications as it relates to
6 Pap smears or the reading of Pap smears?

7 A. I was thinking did I do anything in Pap smears,
8 I don't believe I did or any cytologic, if you
9 would, paper.

10 Q. And then the last abstract would be 1968?

11 A. Correct.

12 Q. Did you have any discussions, doctor, with
13 Dr. Siegler in this case?

14 A. When we happened to see each other at the J, we
15 briefly discussed this, and he said that he was
16 aware that I was looking at the material. Very
17 brief.

18 Q. When was that?

19 A. I don't really remember but it was after I had
20 looked at the material.

21 Q. All right. Was it before your report?

22 MR. GROEDEL: What is the date of
23 the report?

24 MR. BONEZZI: February 5th.

25 A. I think it was. I think it was. I am not sure

1 of that, okay, but I think it was.

2 Q. Okay. You had looked at the slides on January
3 17th, and you wrote your report February 5th,
4 sometime in between those two dates?

5 A. I would suspect so.

6 Q. All right. And tell me what the discussion was
7 that took place?

8 A. Did I look at the material and I said yes, and
9 what did I think, and I just told him I thought
10 it was, you know, I don't remember the exact
11 words, but that it was perhaps something that
12 wasn't too grave an error, somewhere along that
13 line.

14 Q. When you say not too grave an error, meaning
15 just a small error?

16 MR. BONEZZI: Objection,

17 MR. GROEDEL: I will object. Go
18 ahead.

19 A. That I think the general thing is that these
20 interpretations are difficult and that there's a
21 wide range, if you would, of ways of
22 interpreting Pap smears.

23 Q. Okay. You didn't have a tough time interpreting
24 them, did you, doctor? You said that --

25 A. I think I looked at that with great care and in

1 terms of my interpretation, I think it's
2 somewhat biased in view of the circumstances, so
3 that my charge was to really make sure that
4 something was there, and I looked at it
5 carefully from that regard, and after some time
6 I decided that there was something there.

7 Q. Yes. And I think you put mild dysplasia,
8 correct?

9 A. Correct.

10 Q. And I assume that you view all the slides that
11 are submitted to you for purposes of analysis
12 with great care, because obviously a lot depends
13 upon how you read them?

14 A. That is correct.

15 Q. All right. And you would expect that would be
16 true of all pathologists, would you not, or it
17 should be true?

18 A. Yes. Mr. Kampinski, that is correct. I think
19 that when we're particularly alert, if you
20 would, there are some slides that you would look
21 at a little more carefully than others.

22 Q. All right. Well, let me try to focus in on that
23 because this is an important point. And if, in
24 fact, you are asked to look at slides on someone
25 that you know has had an abnormal reading

1 before, that would be one of the circumstances?

2 A. Correct.

3 Q. That would cause you to look at that slide even
4 closer than you might normally?

5 A. I would have no difficulty agreeing with that.

7 I assume you have one. I will ask that in a
8 minute.

9 A. Correct.

10 MR. GROEDEL: Technologist.

11 MR. BONEZZI: Technologist.

12' Q. I'm sorry, cytotechnologist reads a slide as
13 abnormal and brings it to your attention, once
14 again I assume under those circumstances, under
15 that circumstance there would be a heightened
16 awareness on your part?

17 A. That is quite correct.

18 Q. And you'd look at those slides?

19 A. Right.

20 Q. Much more carefully?

21 A. Right. And I would say that after looking at
22 them very carefully and I came to the conclusion
23 that I disagreed with the cytotechnologist, I
24 would render my own opinion.

25 Q. All right. Here, of course, you didn't disagree

1 with the cytotechnologist who looked at them?

2 A. I didn't know what her diagnosis was prior to
3 that.

5 A. Now I'm aware that she considered this a mild
6 dysplasia.

7 Q. And you agree with it?

8 MR. GROEDEL: Objection. Go
9 ahead.

10 A. I have so reported that I agree with that. I
11 have no reason to change my mind at this point.

12 Q. And as a matter of fact, you have indicated that
13 it might even be interpreted as moderate
14 dysplasia?

15 A. I indicated that there was a range, and the
range was, I thought on the low side but that it
certainly could have gone up to moderate
18 dysplasia, and on the other hand it could have
19 gone down to atypia without mentioned dysplasia.

20 Q. If, in fact, there's a question in your mind
21 about an interpretation, that is, atypia versus
22 mild or moderate dysplasia, would you opt for
23 the more serious diagnosis for purposes of
24 affording the patient the greatest opportunity
25 for the benefit of any potential interpretation

1 error?

4 MR. GROEDEL: Objection. Go
ahead.

4 A. I think I will answer that by saying that I
5 would, if I'm very comfortable with an atypical
6 reading, rather than a dysplastic reading, you
7 would err on really if you would causing great
8 anxiety to a variety of people unnecessarily, if
9 you're comfortable with that.

10 On the other hand, if there was some
11 element of doubt I would concur with your
12 diagnosis. I think that it would probably be
13 preferable to go with your -- if you're iffy
14 about it you would probably go with your more
15 premalignant diagnosis.

16 Q. All right. You have got some depositions in
17 front of you?

18 A. Right.

19 Q. Have you read those since the time of your --

20 A. I have read brief sketches of them and not a lot
21 but --

22 Q. Have you read them since you have looked at the
23 slides or did you read depositions before you
24 looked at the slides?

25 A. No, I did not have any depositions before I

2 MR. GROEDEL: (Indicating).

3 Q. What material have you looked at either before
4 or since looking at the slides, doctor?

5 A. Briefly went over some of Dr. Siegler's --

6 Q. Which one, both of them?

7 A. I don't remember that.

8 Q. Is this your entire file?

9 A. Yes.

10 Q. In front of you. Why don't you just let me take
11 a look if you would, please. What you have got
12 in front of you is a November 15th, 1990
13 Jeposition of Dr. Siegler, a deposition of
14 Dr. Bonnell, and the February 11th deposition of
15 Dr. Siegler, a copy of the August 1987 Mt. Sinai
16 report, the April copy of the April '87 Mt.
17 Sinai report.

18 MR. GROEDEL: It's Southgate,
19 Chuck.

20 MR. KAMPINSKI: I'm sorry,
21 Southgate report.

22 Q. Couple letters -- well, Dr. Bonnell's letter, a
23 transmittal letter apparently from ME. Groedel
24 to yourself, and your report, other letter from
25 Mr. Groedel. Another letter from Mr. Groedel,

1 another one, the photomicrographs that you took,
2 and there's nine of them here.

3 Are those all of the ones that you took?

4 A. I believe so.

14 it but I -- it's something that I did some time
15 ago.

16 Q. Okay. And then an article by Leopold Koss and
17 by John Seybolt and William Johnson.

18 Did you do research that resulted in these
19 articles being in your file?

20 A. Since I was called on to look at this material I
21 thought I would at least do some review, and
22 this is -- I had Koss' article in my, in my file
23 and I read it and then I think I got that other
24 article via Koss.

25 Q. It's referenced in Koss?

1 A. I think so. I think so.

2 Q. All right.

3 MR. KAMPINSKI: And you'll provide
4 us with copies of this.

5 MR. GROEDEL: Sure.

6 MR. KAMPINSKI: Great.

7 Q. In reviewing Dr. Siegler's deposition, I assume
8 you agree with him wherein he indicated that if,
31 in fact, you have a negative, I'm sorry, if you
10 have a positive result on one test, that is, an
11 abnormal finding, and then you have a negative
12 test thereafter, that you ignore the negative
is test and you go with the worse finding?

14 MR. GROEDEL: Objection.

15 Q. Do you recall that testimony?

16 A. No, I don't recall that testimony but if you
17 want me to respond --

18 Q. Yes, please. Would you agree with that?

19 A. You know, if you have a positive result of
20 dysplasia and you do another test very shortly
21 thereafter, you are likely to have a negative
22 result in a fairly substantial number of cases I
23 think if you do it within a reasonably short
24 period of time.

25 Q. Short period of time being three months?

1 A. Weeks. No. I think three months there's a much
2 better correlation. For one reason or another.
3 I would say that if you subsequently have a
4 negative result after a positive result I don't
5 think it would erase the positive result,
6 necessarily.

7 Q. Meaning that -- well, what is the meaning of
8 that for purposes of both a pathology --

9 A. Meaning that perhaps the patient --

10 MR. GROEDEL: Objection. Go
11 ahead.

12 A. Meaning that that patient may still be subject
13 to more intense scrutiny than necessarily you
14 would do if there were no positive result.

15 Q. All right. Are you talking now from the
16 clinician's standpoint or the pathologist's or
17 both?

18 MR. DAPORE: Objection.

19 A. I'm talking from the pathologist's standpoint
20 but using my, putting myself I guess in the
21 position of what is good for the patient.

22 Q. All right. Let me try to put that in specifics,
23 doctor.

24 A. Go ahead.

25 Q. If you have a reading of dysplasia, three months

1 later followed by a reading of cell study
2 negative, okay, atypical cervical cells are
3
4
5 patient?

6 MR. GROEDEL: Objection. Are you
7 going to grade the degree of dysplasia or
8 not?

9 MR. KAMPINSKI: Well, I don't
10 care.

11 Q. Let's say mild cervical dysplasia originally in
12 April of 1987 followed by -- and by the way, let
13 me stop, I mean whether you say mild, moderate
14 or severe, dysplasia has to be followed, doesn't
15 it? If you find dysplastic cells, those have to
16 be followed, do they not?

17 A. Let's -- let me ask you to rephrase that
18 question, okay, because it's a very loose
19 question.

20 Q. Well, I mean if you as a pathologist have a
21 finding of dysplasia --

22 A. Correct.

23 Q. -- regardless of the degree --

24 A. Right.

25 Q. -- that is something that you would recommend

1 follow-up, correct?

2 A. You could either recommend, if you have some
3 understanding with your attending physicians or
4 you don't need to recommend.

5 Q. Okay.

6 A. If you're attending physician understands what
7 he should do, he is looking at the patient and
8 doing all the other things that in addition to
9 taking the material. But this test by virtue of
10 itself usually would demand some action.

11 Q. Okay. And according to Dr. Siegler's standard
12 it requires a repeat Pap within three months, a
13 finding of mild cervical dysplasia?

14 A. Okay.

15 Q. You don't disagree with that, do you?

16 A. I would not disagree with that. I think that
17 there would be a variety of ways to manage that,
18 but that would be in the purview of the
19 attending physician.

20 Q. Okay. But that would be appropriate follow-up
21 recommendation for a pathologist?

22 A. I would -- I would not disagree with that.

23 Q. Okay. If that is then followed by a finding of
24 neoplastic study or neoplastic exam, cell study
25 negative with a note indicating atypical

1 cervical cells are present, what is the
2 appropriate standard of care in terms of
3 follow-up on that patient at that point in time
4 having -- given the fact that in April of '87
5 mild cervical dysplasia was found?

6 MR. DAPORE: Objection.

7 MR. GROEDEL: Objection.

8 MR. DAPORE: From the standpoint of
9 a pathologist or --

10 MR. KAMPINSKI: Both.

11 MR. DAPORE: Objection.

12 A. I think that it would be an area that, again,
13 would be a little bit out of my realm of
14 expertise, but generally I would, not to evade
15 the question, I would think that a higher degree
16 of scrutiny would still be demanded.

17 Q. All right. What does that mean in terms of
18 timing, though, doctor? Are we talking about
19 immediate punch biopsy, are we talking about Pap
20 in another three months? I mean what --

21 A. See, these are areas, again, that are not areas
22 that I -- we determine the degree of
23 abnormality. The clinical doctor who sees the
24 patient and takes care of the patient would
25 determine what should be done, but I would say

1 that in my opinion that it would be something
2 other than just following that patient in that
3 routine. I still think she would be in a higher
4 risk category.

5 Q. Do you have recommendation standards that you

6

7

8 A.

9

10 Q.

11 A.

12

13

14 Q.

15 A.

16

17

18

19 Q.

20

21

22

23 A.

24 at generally, I can't say for sure but a good
25 number of gynecologists who mainly do not find

1 our recommendations to their looking.

2 Q. Okay. What about, do you differentiate then
3 between who sends you the slides?

4 A. We don't. We just have not come, you know, we

16

17

18

19

20 Q. Such as?

21 A. I don't know, maybe three to six months. I

22

23

24

25

1 Q. I take it based on what you're saying then you
2 as a pathologist given these two reports would
3 have anticipated that the clinician, whoever he
4 might be, whether he be family practitioner,
5 gynecologist, would have done something in the
6 near future to further follow-up on this lady's
7 condition?

8 MR. DAPORE: Objection.

9 MR. GROEDEL: Objection. You can
10 answer.

I would say that if that -- I think that would
have been appropriate to perhaps, not with the
exact follow-up intervals or what to do is
something that I would not want to even
speculate on, but I think some increased, if you
would say, scrutiny for this particular patient.

16
17 Q. Well, wouldn't it concern you, though, doctor,
18 that the clinician receiving a report that says
19 cell study negative, might be falsely reassured
20 by such a finding?

21 MR. GROEDEL: Just cell study
22 negative?

23 Q. Well, and atypical cervical cells are present.

24 A. I think that the report probably would reflect
25 that some atypia is present.

1 Q. That's what you read atypical cervical cells are
2 present to equate to that is atypia?

3 A, Atypical, yes, atypical cervical cells are
4 present. I would think that is, you know, is a
5 small abnormality, a minor abnormality and as
6 such is an abnormality in view of the other
7 diagnosis.

8 Q. You mean the earlier Pap?

9 A. The earlier Pap might have probably would have
10 been appropriate to put this patient in a
11 slightly higher scrutiny category, for whatever
12 you would do for that.

13 Q. With regard to the cytotechnologists that work
14

18 Q. All right. And I'm sure you had some input in
19 their training and have taught them what to look
20 for?

21 A. There's an interaction. There's a constant
22 interaction if you would. It's something that
23 we impart part to them and they're on their
24 own. It's a day-to-day given sort of give and
25 take. They teach us as well.

1 Q. Okay. **Do** they employ a technique whereby they
2 circle suspicious areas for you to scrutinize
3 if, in fact, they see something suspicious on
4 the slide?

5 A. Correct.

6 Q. And the reason they do that is what, doctor?

7 A. So that **I** think it's, you know, sometimes it's
8 very hard to go back and locate the abnormality
9 that you have under there right now so that it
10 really then means they can go back and then
11 impart that field to the consultant.

12 Q. I see.

13 A. And we do that not infrequently on anatomic
14 specimens, too, or tissue specimens so that we
15 can call attention to certain areas.

16 Q. So that would be incumbent upon them within
17 their standard of care to bring to your
18 attention those areas of abnormalities that they
19 saw, correct?

20 A. That's their job.

21 Q. All right. And I assume the other part of that
22 is that when you as a pathologist are provided
23 with those slides that they bring to your
24 attention as showing some abnormalities,
25 probably focus **on** the areas that are circled?

1 Would that be a fair statement?

2 A. That's correct.

3 Q. Okay. The slides that you looked at on January
4 17th had areas that were circled, did they not?

5 A. Correct.

6 Q. All right. Your finding of mild dysplasia was
7 that, was your opinion that the circled areas
8 contain mild dysplasia or that areas other than
9 the circled areas contain dysplasia?

10 A. Well, you know, I didn't ask myself that
11 question at the time. I think most of the
12 photos that I took were within the circled
13 areas, and I suspect most of the -- I think that
14 my, whether I -- whether I looked at other areas
15 or not, I undoubtedly must have looked at other
16 areas. Whether they influenced me in my
17 decision to call this a mild dysplasia or not, I
18 really can't say at this point.

19 Q. Because you just don't remember?

20 A. I don't remember.

21 Q. All right. That's not something you focused on?

22 A. No, it really isn't.

23 Q. Let me give you at least a hypothetical, doctor,
24 which may or may not be accurate depending upon
25 your looking at these slides. Again, just

1 assume for the sake of answering these next few
2 questions that the areas that were circled on
3 the August of '87 slides contained what might be
4 classified as atypia?

5 A. Okay.

6 Q. And that other areas on the slide contained
7 dysplasia?

8 A. Okay.

9 Q. Including, perhaps even severe dysplasia?

10 A. Okay,

11 Q. And that for whatever reason those were not seen
12 by Dr. Siegier?

13 A. Okay.

14 Q. Either he didn't look at them because he was to
15 focused on the circled areas or he just didn't
16 see them?

17 A. Sure.

18 Q. For whatever reason. Would that have been a
19 failure then on the part of the cytotechnologist
20 to have brought those other areas to his
21 attention on those slides, in your opinion?

22 A. The question that you raise is as to the
23 observation being missed, if observations like
24 this are missed consistently by the
25 cytotechnologists, and you are in a position to

1 | be aware of that, I think that it certainly
21 | would behoove you to get another
3 | cytotechnologist.

4 | Q. Please. I don't want the question to be
5 | misunderstood.

6 | A. Then phrase it again.

7 | Q. Okay. I am not asking you to judge the
8 | competence of somebody over a period of time,
9 | we're talking about particular slides of a
10 | particular person on a particular day.

11 | And the question I have is if, in fact,
12 | that person did not identify areas of mild,
13 | moderate or severe dysplasia on those slides but
14 | rather circled areas of atypia, would that have
15 | been a failure of that person to do their job
16 | appropriately on that day, on those slides?

19 | abnormal. However, let's just put it where it's
20 | at, it's not infrequent that the

21 |
22 | and you as a pathologist are going to find those
23 | from time to time.

24 | Q. Oh, sure. I mean I assume we have no
disagreement, that is still the obligation of

1 the pathologist when he looks at those slides to
2 review the whole slide?

3 A. Well, he'll generally not spend an enormous
4 amount of time looking at every field, but if he
5 is so inclined, if he becomes suspect for some
6 reason or another, he would look at it
7 carefully.

8 Q. If you become aware of a previous Pap smear
9 having been read as abnormal, containing
10 dysplastic cells, and you are now doing a
11 repeat --

12 A. Right.

22 the other material, I think that would have been
23 desirable if it would have been convenient, and
24 if I had access to it, but I think that it's not
25 uncommon for us to not review previous material

1 depending upon what we see on the present
2 material.

3 Q. Doctor, were you given, and I apologize because
4 I didn't see it, maybe you were, but were you
5 given the laboratory request to look at with
6 respect to the August 22nd, 1987 --

7 A. That's in one of these things.

8 Q. So you have seen that?

9 A. I have seen that.

10 Q. All right. And you can look at this if you
11 want.

12 A. Go ahead.

13 Q. Because I have got a couple questions. I mean
14 it says Follow-up abnormal Pap, does it not?

15 A. Yes, correct.

16 Q. Okay. So this alerts you as a pathologist that

20 Q. Sure. And once again, under those
21 circumstances, would you want to see the
22 previous abnormal Pap if, in fact, it was
23 accessible?

24 MR. GROEDEL: Objection. Go
25 ahead.

1 A. It would be desirable, but it would not be
2 uncommon in the press of your daily activities
3 to not make a big effort to do this.

6 A. Let me rephrase my answer, okay'?

7 Q. Go ahead.

8 A. That it would not be uncommon for you not to do
9 this.

10 Q. Doctor, correct me if I'm wrong, but what you do
11 can have very serious impact on somebody's life?

12 A. Correct.

13 Q. And I assume you take what you do very
14 seriously?

15 A. I do.

16 Q. And following up an abnormal Pap can mean life
17 or death for a patient?

18 A. It is -- it's a matter of certainly importance,
19 but I think the more important information to
20 convey to the clinical doctor is what you are
21 seeing on the present material. I mean he is
22 aware, I would assume, of what is on the
23 previous material, and what you see on the
24 present material is of far greater importance
25 than reviewing the previous Pap.

1 Q. Well, is it important to let him know that
2 perhaps there is an observer who you trust and
3 who has worked for you for 20 years who reads it
4 as containing dysplasia whereas you might read
5 it as negative cell study, atypical cells
6 present?

7 A. Well, Mr. Kampinski, I think that as the
8 pathologist you are weighing what you put down
9 on your report with a great deal of care, and
10 your experience, I would assume is the expert,
11 as the captain of the ship, and you make a
12 decision, and you are comfortable with it and I
13 think that that's all I can say.

14 Q. He was wrong, wasn't he?

15 MR. GROEDEL: Objection.

16 A. I would answer to that by saying I can't really
17 say that.

18 Q. Well, did you say it in your report?

19 A. No, I did not. And I think you are putting --

20 Q. Well, you read it as dysplastic, I mean the
21 cytotechnologist read it as dysplastic,
22 Dr. Bonnell read it as dysplastic?

23 A. That doesn't mean that he's wrong and I'm right,
24 it means that his interpretation there was
25 different than mine, okay. Now, indeed I think

1 I would leave it at that.

2 Q. Well, see, I can't leave it at that. How do you
3 distinguish between atypia and dysplasia,

15 the amount of cytoplasm.

16 Q. In other words, the larger the nucleus, the less
17 cytoplasm, the more abnormal and the more likely
18 it is dysplastic as opposed to atypical?

19 A. I would agree with that.

20 Q. And if you see sheets of cells like that that
21 would be what?

22 A. Well, I think sheets are my opinion only
23 important if they reveal the cytologic detail,
24 and sometimes sheets are much more difficult to
25 analyze because they're so coherent to one

1 another, and you can't really observe the
2 nuclear detail that you can in single cells.

3 Q. Well, if that's true, then don't you have to
4 report to the clinician and that perhaps the
5 specimen is not adequate for you to make a
6 determination?

7 A. i think you see sheets quite frequently on cells
8 and you see single cells so I think it's a
9 composite and I don't believe sheets necessarily
10 mean that something is not adequate or is
11 idequate.

12 Q. Well, if you nave sheets of abnormal cells what
13 does that mean?

14 A. Well, I think that if you have sheets of
15 abnormal cells they can be regenerative, they
16 can be atypical, they can be dysplastic. I
17 think you would evaluate them accordingly.

18 Q. Okay.

19 MR. KAMPINSKI: Can we plug this
20 in?

21 Q. While he is doing that, doctor, you saw
22 Dr. Siegler's testimony where he conceded that
23 some of the cells that he saw were, in fact,
24 dysplastic?

25 MR. GROEDEL: Objection. Go ahead.

1 Q. Did you not?

2 A. I don't remember reading that per se.

3 Q. You don't?

4 A. No, but if you say it's there I will accept
5 that.

6 Q. So that even he acknowledges that he didn't see
7 the dysplasia that was present on these cells?

8 MR. GROEDEL: Objection. I mean

19

20

21 Do you know?

22 MR. GROEDEL: Objection. If you
23 know.

24 Q. I mean did you ask for them?

25 A. No, I did not request them.

1 Q. Okay. Doctor, I'm going to put on the screen
2 what have been marked at Dr. Siegler's
3 deposition as Exhibits 4 and 5.

4 A. Okay.

5 Q. Okay. And these are photomicrographs of the
6 August 1987 slides.

7 A. That was the one that was from his laboratory in
8 Southgate.

9 Q. Yes, sir.

10 No,

11 MR. GROEDEL: Second one.

12 MR. KAMPINSKI: From Mt. Sinai?

13 MR. GROEDEL: The one in question.

14 MR. KAMPINSKI: Right. With any
15 luck this will work.

16 What number was that one, Chris?

17 MR. MELLINO: What were the
18 numbers?

19 MR. KAMPINSKI: 4 and 5.

20 MR. MELLINO: That's 4.

21 Q. The one you are looking at right now, doctor,
22 was marked as Exhibit 4 of Dr. Siegler's
23 deposition. Can you tell me what we're looking
24 at, sir?

25 A. It's a cluster of squamous epithelial cells.

- 1 Q. Are those normal?
- 2 A. It's not a very good reproduction. Can you
3 focus it or is that the best you can do?
- 4 Q. You want to get closer?
- 5 A. That's not going to help. It's fuzzy.
- 6 Q. You can't tell anything from that, doctor?
- 7 A. I would not hazard an opinion on that. It's not
8 a good reproduction.
- 9 Q. Do you recall seeing that cluster of cells on
10 the slides that you looked at?
- 11 A. I certainly recall something similar to that. I
12 don't know if it was the exact specimen.
- 13 Q. And are those what you referred to as mild
14 dysplasia?
- 15 MR. GROEDEL: Objection. Go
16 ahead.
- 17 A. I don't remember the exact, you know, cells that
18 I fixated on for mild dysplasia but those cells
19 are not, as I recall even in the preparation,
20 they're not particularly well preserved in this
21 study and probably they could be easily
22 misinterpreted for a higher grade.
- 23 Q. For a higher grade meaning what?
- 24 A. Of --
- 25 Q. Severe dysplasia?

1 A. They could be probably, if you interpret
2 material like this which is not well prepared I
3 think you are liable to overcall it.

4 Q. Well, I mean apparently this wasn't called at
5 all?

6 MR. GROEDEL: Objection.

7 A. Well, go ahead. You want me to answer that?

8 Q. Please.

9 MR. GROEDEL: Yes.

10 A. Are you making that statement?

11 Q. Yes. I mean that's accurate, isn't it?

12 A. I don't believe so but I don't know how you know
13 that.

14 Q. Well, because I think it says in the August of
15 '87 report that there's no cell abnormalities?

MR. GROEDEL: Objection.

Q. Or cell study negative, right?

18 MR. GROEDEL: Objection.

19 Q. Isn't that what it says?

20 MR. GROEDEL: Well, it says more
21 than that. I mean you're splitting the
22 report up.

23 MR. KAMPINSKI: I'm not.

24 Dr. Siegler did.

25 MR. GROEDEL: Well --

1 A. Do you want me to respond to that?

2 Q. Please, if you would.

3 A. This perhaps could be atypical cervical cells,
4 you know, I think it's within that realm.

5 They're not, again, well preserved material.

6 Q. Wait. Let me make sure I understand. You are
7 saying that this could be atypical cells,
8 doctor?

9 A. It perhaps could have been interpreted that way.

10 Q. Do the nuclei look --

11 A. I maintain that the nuclei are not well
12 preserved and therefore it's difficult to really
13 base an opinion on that material, particularly
14 as we see it here.

15 Q. All right. Why don't you put on 5, please.

16 Looking now at what had been marked as Exhibit 5
17 at Dr. Siegler's deposition.

18 A. Those are fuzzy, very fuzzy --

19 Q. We'll try to focus it a little bit. We'll see
20 if we can't get you some real good blowups for
21 trial, doctor.

22 A. Mr. Kampinski --

23 MR. GROEDEL: It wasn't a
question. It's okay. You don't have to
respond.

1 Q. I mean we don't want you to have any difficulty
2 with this. How's that for focus, sir?

3 A. That's better.

4 Q. Okay. Now, what do you see on Exhibit 5,
5 doctor?

6 A. Similarly there are clusters of squamous
7 epithelial cells, and I think that reading them
8 off the screen I think they're abnormal and in
9 the low range, mild dysplasia, atypical.

10 Q. Well, if I look at these cells, what is it that
11 would distinguish the, quote, "mild atypical"
12 from dysplasia?

13 A. I think you would need better definition of
14 those cells to really resolve that. I think the
15 cluster here is not well preserved, and is not a
16 particularly good cluster to base your diagnosis
17 on.

18 Q. Well, if you were concerned as you were with the
19 last one, that they could be overcalled as
20 severe dysplasia, I think that's what you said?

21 A. Perhaps.

22 Q. On Exhibit 4, once again, would you --

23 A. I think that thought would go through your mind.

24 Q. Okay.

25 A. And you would try to resolve the cells but as

1 you look at them you don't see a good, definite
2 nuclear pattern here.

3 Q. Well, my point, though, doctor, is if that was a
4 concern of yours, and looking at those cells as
5 an expert pathologist, would you not then tell
6 the clinician that the specimen you had was not
7 well preserved and you would want, you know, an
8 additional specimen or a better, you know,
9 better swab?

10 A. Are you finished?

11 Q. Please. Go ahead.

12 A. I think you would do a better overall
13 evaluation. And if you see a small amount of

16

18 small areas that are not easily, if you would,
19 interpreted.

20 Q. All right. But the interpretation here would
21 range from your saying atypia to what grade of
22 dysplasia?

23 A. Mild dysplasia.

24 Q. Mild?

25 A. Yes, mild.

- 1 Q. Not moderate?
- 2 A. It would -- I think I would go on and look at
3 the rest of the material.
- 4 Q. Well, looking at this, how about severe?
- 5 A. No. I think it would be very unlikely.
- 6 Q. How do we tell the difference between moderate
7 and severe?
- 8 A. Well, you would certainly see much more nuclear
9 change in a severe dysplasia.
- 10 Q. Such as?
- 11 A. Such as much more hyperchromaticity and probably
12 less cytoplasm.
- 13 Q. In other words, bigger nuclei?
- 14 A. Probably irregular nuclei. The contour would be
15 helpful in that regard.
- 16 Q. Well, what kind of contour would you be looking
17 at?
- 18 A. Well, you'd be looking for irregularities.
- 19 Q. Such as?
- 20 A. Wavy, bumpy.
- 21 Q. As opposed to smooth ones?
- 22 A. Smooth.
- 23 Q. Well, could you point out to me the smooth ones
24 you see. Maybe we can approach this and maybe
25 you can show me what you are talking about.

1 A. Well, I think, a

2

3

4

19 sir?

20 A. I think that's a high drive.

21 Q. I'm sorry?

22 A. High drive.

23 Q. I still can't hear you.

24 A'. High drive. Wouldn't that be somewhere around

25 10 times 40, 400? Is that correct?

1 MR. KAMPINSKI: I think it's got it
2 on there, Chris.

3 Q. Dr. Siegler described both of these as
4 dysplastic. Would you disagree with that?

5 MR. GROEDEL: Objection. Go
6 ahead.

7 A. Well, I certainly think that that's reasonable.

8 Q. Well, could you tell me whether or not it would,
9 in fact, have been appropriate for him to have
10 advised the clinician that these cells were
11 dysplastic in 1987?

12 A. If --

13 THE WITNESS: Do you want to object
14 to that?

15 A. If he indeed made that determination he should
16 have reported it.

17 Q. Well, but I mean that's his job is to make that
18 determination if it's on the slide, isn't it?

19 A. If he had made that determination he should have
20 reported it.

21 Q. No. You are not listening to my question.

22 A. Okay. Rephrase it.

23 Q. If it's on a slide that's his job to find it and
24 to report it?

25 MR. GROEDEL: Objection. Go ahead.

1 Q. Isn't it, doctor?

2 A. If indeed the facts are such that he can render
3 that judgment, it's his job to **do** it.

4 Q. Look, I don't want to mince words with you,
5 doctor, because I mean you are being very
6 careful in your wording of these responses. My
7 point is it's his job to find the abnormalities?

8 A. No question about it.

9 Q. All sight. And if they're there and he doesn't
10 find them he hasn't done his job?

11 MR. GROEDEL: Objection.

12 Q. Isn't that fair?

13 MR. GROEDEL: Objection. Go
14 ahead.

15 A. I think they are -- I don't have any
16 objection -- I can't take objection to what
17 you're saying, Mr. Kampinski. I can say that
18 there's varying interpretations to material.

19 Q. Well, and if he interprets it wrong he hasn't
20 done his job either?

21 MR. GROEDEL: Objection.

22 A. We, again, don't know exactly what wrong is.

23 Q. Wrong is normal when it's abnormal.

24 MR. GROEDEL: Objection. Go
25 ahead.

1 A. Indeed if he interprets something as normal when
2 it's abnormal, it would speak for itself.

3 Q. Doctor, I have put another slide up. Can you
4 comment on what you see there?

5 MR. GROEDEL: Can you identify what
6 you're showing?

7 MR. KAMPINSKI: Yes. I think those
8 are also from the '87 slide.

9 MR. GROEDEL: Which one?

10 MR. KAMPINSKI: I think those are
11 August as well, sir.

12 A. It's a cluster of squamous epithelial cells that
13 shows a similar abnormality.

14 Q. When you say similar abnormality, I have got to
15 ask you to be a little bit more specific.

16 A. I think it shows an abnormality that is probably
17 in the range of -- first of all, let me say that
18 it's not a good preparation for the same reasons
19 that I said the other one is not a good
20 preparation. And as such it shows a similar set
21 of circumstances where we see cells that are
22 generally large, not well-defined, generally
23 round, and can be interpreted as a mild
24 dysplasia.

25 Q. Okay. Doctor, I'm going to ask you or I'm going

1 to put on the slides that you did?

2 A. Sure.

3 Q. And I'm going to ask you to comment on those.

4 MR. KAMPINSKI: Why don't you take
5 that one out, Chris, and put his in. And before
6 you put them on there, why don't we mark them as
7 -- let's have Aneta mark the back of them as
8 Rabin -- am I pronouncing your name right? I
9 apologize.

10 A. You are not pronouncing it right, Rabin.

11 Q. Rabin 1, 2, 3, 4, 5 --

12 - - - -

13 (Thereupon, Plaintiff's Exhibits 1

20 Q. Okay. And how did you determine what areas you
21 were going to make photomicrographs of?

22 A. You know, at the time I thought I'd take what I
23 thought was fairly representative stuff.

24 Q. Okay. And by looking at these are you going to
25 be **able** to tell which smears these are

1 photomicrographs of?

2 A. I only took photos of one smear or there are two
31 smears. I don't know. I don't remember that.

4 Q. But that was the August of '87 ones?

5 A. it was the only one, right, that I was asked to
6 comment on.

7 Q. Okay. Do you have, by the way, other labs than
8 the one that you mentioned?

9 A. No.

10 Q. Okay. And do you do work for outside
11 physicians?

12 A. Yes.

13 Q. So they send them in and your lab does them
14 here?

15 A. We pick them up. We have a little courier
16 service.

17 Q. So it's not just people within the hospital?

18 A. It's generally doctors related to the hospital.

19 Q. Right. Who have privileges here?

20 A. Yes. Generally. I don't recall us doing the
21 work. Too many -- although we do some podiatric
22 work for other -- with nonpodiatric members of
23 the staff, I suspect. So there are a few minor
24 exceptions.

25 Q. The report, the August '87 report, doctor --

1 A. Yes.

2 Q. -- the fact that it says cell study negative --

3 A. Yes.

4 Q. -- and then later after Dr. Siegler's signature
5 it says atypical cervical cells are present --

6 A. Correct.

7 a. -- are those inconsistent?

8 A. I wouldn't consider them inconsistent.

9 Q. Well, which is it, I mean are there atypical
10 cervical cells present or is the cell study
11 negative?

12 A. My comment is that it may not be a very
13 desirable way to report, but I think if I were
14 to view that report I would certainly look at
15 the most abnormal part of it, namely the
16 atypical cervical cells present.

17 Q. All right. Which is different than negative
18 cell study?

19 A. It's confusing, the negative cell study and the
20 atypical cervical cells present, but I would
21 still -- the atypical cervical cells would
22 certainly raise the antenna.

23 Q. Okay. I mean it is incumbent, is it not, on the
24 pathologist not to be confusing?

25 A. We try our best not to be confusing.

1 Q. As a matter of fact --

2 A. We don't always succeed.

3 Q. Well, as a matter of fact, the atypia category
4 has been done away with or at least the
5 recommendation was to do away with it by the
6 Bethesda study, correct?

7 MR. GROEDEL: Objection.

8 MR. RONEZZI: Objection.

9 A. There are a variety of ways to impart, and
10 really the most important part of it is to try
11 and report to the attending physician the degree
12 of abnormality that you perceive, and there are
13 varying degrees of abnormality that are -- that
14 you would not consider dysplasia, and how you
15 report that, certainly atypical cervical cells I
16 think would be quite appropriate.

20 MR. BONEZZI: Objection,

22 am not very familiar with it but there are a
23 variety of other ways to do things other than
24 that particular way of reporting.

25 Q. Well, you disagree with their findings?

1 MR. GROEDEL: Objection. Go
2 ahead.

3 A. I don't know precisely what they are saying. I
4 just know what you say they're saying and until
5 I have looked at that carefully --

6 Q. Do you still use atypia in your reports?

7 A. We certainly could use atypia.

8 Q. I'm sorry, you do?

9 A. We certainly can, sure.

10 Q. Still today?

11 A. Still today. We would sometimes use atypia, not
12 definite for dysplasia. It would be a very
13 appropriate way of saying, hey, I'm a little
14 concerned about something, but I don't think
15 it's definitely dysplasia.

16 Q. If you use atypia --

17 A. And I think that -- let me finish my thought
18 there.

19 Q. Sure.

21 you're really concerned a little bit about it
22 but you can establish the diagnosis of
23 dysplasia.

24 Q. If you use atypia in a report do you further go
25 on to define what you mean by atypia?

1 MR. BONEZZI: Objection.

2 A. No.

3 Q. You don't?

4 A. No. I think that we do not.

5 Q. All right. If you would show the slides. We
6 have numbered these, doctor, 1 through 9. I'm
7 assuming that we're starting with 1.

8 A. Right.

9 Q. And if you would just comment on each of them
10 as --

11 A, Very similar to the material that you just went
12 through.

13 Q. Right.

14 A. And I have the same surprise. I would focus in
15 on something like that but that's very similar.
16 Go ahead.

17 Q. Well, how do you --

18 A. I would think it would be in the realm of a mild
19 dysplasia.

20 Q. Okay. That's No. 1. All right. What are we
21 looking at here, doctor?

22 A. We're looking at some single cells with
23 irregular nuclei, and, again, I think they could
24 well be mildly dysplastic cells. Have a lot of
25 cytoplasm, some inflammation. The two big cells

1

2 Q.

3

4 A.

5 Q.

6 A.

7 Q.

8 A. They have a lot of cytoplasm and they are not

11 Q. How about the size of the cells?

12 A. They are big.

13 Q. And what does that tell you?

14 A. That they could easily be making protein, if you
15 would, in a regenerative phase or in a perhaps
16 premalignant phase.

17 Q. And that is one of the reasons that Pap tests
18 are done, is to identify premalignancies such as
19 dysplasia?

20 A. Correct.

21 Q. And that's one of the great advances that have
22 been made in medicine and pathology is the Pap
23 test?

24 A. Certainly is beyond dispute as far as I'm
25 concerned, yes.

1 Q. And if, in fact, you can identify dysplasia, and
2 remove it prior to its progressing to cancer,
3 you have, in essence, saved that person's life
4 in all probability, have you not?

5 MR. BONEZZI: Objection.

6 A. That speaks for itself. I would agree with it.

71 Q. Okay. I'm sorry. Continue. This is No. 4.

9 ' What is that?

91 A. That's a very low power, I can't really, don't
101 even know why I --

11 MR. GROEDEL: Keep your voice up,
12 doctor.

13 A. it's a very low power. Maybe some of these
141 streams of cells. Are there higher ranges on
151 those?

16 Q. Let's stop for a second. Up in the upper
17 right-hand corner it looks like a circle. Am I
18 incorrect about that?

19 A. I think you are.

20 Q. How about closer to the middle but still in the
21 upper right. Is there a circle there?

22 A. I don't know. You mean in ink? Come on up and
23 show me what you mean.

24 Q. Right. Mr. Dapore seems to know what I'm
25 looking at.

1 A. Oh, no. Oh, no. No way.

2 Q. Why is it that you took this slide, doctor?

3 A. I must have thought it was typical of what I
4 wanted to take. I don't know. It's just
5 something that struck me at the time. Maybe
6 there was --

7 Q. What is it about the slide that struck you?

8 A. But I can't be sure. Again, maybe if we could
9 focus that a little better. I think there are
10 little streams of -- there you go. Little
11 streams of larger cells, if you will.

12 Q. And by larger cells, are you talking about the
13 nuclei being more prominent?

14 A. Yes, perhaps. Yes.

15 Q. And does that indicate to you a dysplastic
16 process?

17 A. Not necessarily. It's abnormal and you'd want
18 to look at it higher.

19 Q. Higher magnification?

20 A. Yes.

21 Q. All right. But that would be something that you
22 would see at a lower magnification that would
23 alert you to the possibility of dysplasia?

24 A. Correct. Correct. Or abnormality.

25 Q. Okay. Fine.

MR. KAMPINSKI: Go ahead, Chris.

2 And this I think is No. --

3 MR. MELLINO: 4.

4 Q. 4. Now, is this a close-up of what we just
5 looked at?

6 A. I think so. I think so. Best of my
7 recollection. And the cells are fairly round,
8 not hyperchromatic but enlarged with a lot of
9 cytoplasm and you would wonder about atypical
10 cells, not dysplastic, you would think of a mild
11 dysplasia. I consider these mildly dysplastic.
12 (Okay. Go ahead. This is No. 5. This, once
13 again, is a small area under low magnification,
14 correct?

15 A. I would say so for sure, yes.

16 Q. And why is it that you took those?

17 A. Maybe if we go up higher.

18 Q. Let me stop there. Is there something about the
19 color or the enlarged --

20 A. Well, it would have to be this central area that
21 caught my attention.

22 Q. And we can see even from that low magnification
23 there are some fairly large cells in there?

24 A. A few, correct.

25 Q. All right.

1 MR. KAMPINSKI: Go ahead, Chris.

2 Q. Is this the higher magnification then of those
3 cells you think?

4 A. Yes. I see -- no. I am not sure but maybe.
5 No, I don't think so. Do you want to go back?
6 I don't believe -- perhaps. It doesn't seem to
7 represent what I'm looking at there. Go ahead.
8 Anyway, they're similar or --

9 Q. We're now looking at No. 6?

10 A. Okay. Is that focusable better?

11 Q. 6?

12 A. Can that be focused any better'? Not well
13 preserved cells at this point.

14 Q. so --

15 A. I wouldn't base it, any strong interpretation on
a --

16 Q. All right. Then why did you take these? I mean
17 were these representative of something you
18 wanted to do?

19
20 A. Probably I took them because, you know, the just
21 caught my eye at the time but as I look at them
and reflect on them I can't really make a
judgment on them.

Q. Okay.

MR. KAMPINSKI: Go ahead, Chris.

1 This is No. 7?

2 A. Yes. Again, I think the view, irregular,
3 atypical single cells, that, again, still have a
4 lot of cytoplasm, not too hyperchromatic but
5 some irregularity of their nucleus and I think
6 they're in keeping of mild dysplasia.

7 Q. And as they progress from mild to moderate to
8 severe is what we would see then larger nuclei
9 and less cytoplasm?

10 A. And more irregularity and much deeper stain of
11 the nucleus.

12 Q. Okay. And when you say irregularity,
13 irregularity in the nucleus, in the cell or
14 both?

15 A. Irregularity in the nucleus, primarily.

16 Q. Okay.

17 MR. KAMPINSKI: Go ahead, please.
18 And this I believe is No. 8? Am I right or --

19 MR. MELLINO: Yes.

20 A. A few irregular cells, again, not well preserved
21 in that area, but, again, I think in keeping
22 with a mild dysplasia.

23 Q. Okay. And then lastly, No. 9?

24 A. Some vacuolated cells.

25 Q. I'm sorry?

1 A. Vacuolated.

2 Q. Vacuolated?

3 A. Vacuolated cells.

4 Q. What are those?

5 A. Cells with empty appearing cytoplasm, if you
6 would. Let's put it that way.

7 Q. The reason I'm asking you to repeat it, it's
8 very hard for her to hear and write down. Empty
9 appearing cytoplasm?

10 A. Areas that are devoid of stain.

11 Q. And what does that mean, doctor?

12 A. Not much I think. Again, you'd focus on the
13 nucleus, the large nucleus and they are large
14 nuclei.

15 Q. And those nuclei, doctor, almost take up the
16 entire cell?

17 A. Yes. Again, I hasten to add this is not an area
18 that is either well preserved or it doesn't come
19 through on the photograph.

20 Q. Let's take it for what we do see.

21 A. I would say that probably there's a substantial
22 amount of cytoplasm in those cells.

23 Q. Not that we can see, though?

24 A. Oh, yes. Probably, you know, the vacuole and
25 the areas around them and this one over here

1 also has a fair amount of cytoplasm.

2 Q. I see. So you would still consider those mild
3 or moderate?

4 A. I would put them in the **low** end of things, and,
5 again --

6 Q. You've got to help me.

7 A. Low end, mild.

8 Q. Not moderate?

9 A. Not moderate.

10 Q. You are sure about that?

11 MR. GROEDEL: Objection. Asked and
12 answered.

13 Q. I mean as you --

14 A. That is my interpretation.

15 Q. But I mean as you defined mild and moderate for
16 me before, it seems like this one's getting to
17 almost severe?

18 A. No.

19 Q. No?

20 A. No.

21 Q. How about moderate?

22 A. No.

23 MR. GROEDEL: Objection. Asked and
24 answered.

25 Q. Still mild, right?

- 1 A. I think it's in keeping with mild.
- 2 Q. Okay. But certainly dysplastic?
- 3 A. I think that it's in keeping with that. There
- 4 are some areas that are better that perhaps, you
- 5 know, you take everything in context and the
- 6 overall interpretation I think is well within
- 7 keeping with mild dysplasia.
- 8 Q. All right. Now, these nine photomicrographs,
- 9 doctor, you took them from different areas of
- 10 the slide, it's not all the same area?
- 11 A. Correct.
- 12 Q. So there's mild dysplasia all over this slide?
- 13 A. There are cells that have this abnormality that
- 14 are certainly interpretable as mild dysplasia
- 15 and they're not just a few.
- 16 Q. Okay.
- 17 MR. ICAMPINSKI: Why don't you turn
- 18 that off and put these back.
- 19 Q. Doctor, do you have any opinion as to whether or
- 20 not had the August 1987 report been read as
- 21 dysplastic -- mild dysplasia, with a
- 22 recommendation for either repeat biopsy in three
- 23 months or I'm sorry, what was the other
- 24 procedure you indicated?
- 25 A. Colposcopy.

1 Q. Colposcopy, as to whether or not Sherleen Wynn
2 would be alive and well today?

3 MR. GROEDEL: Objection.

5 Q. Do you have an opinion?

6 MR. GROEDEL: Objection.

7 A. That's a very important question.

8 Q. Yes, it is.

9 A. And I think that it's one that is speculation
10 but I think that in fairness that if indeed
11 something were turned up and appropriate
12 management taken that I have no question that
13 this can be a life-saving procedure, namely
14 either removal of the uterus at an early stage
15 if indeed this was the case at that point.

16 Q. So your opinion is that had it been read as
17 dysplastic cells and the appropriate follow-up
18 done she probably would have --

19 A. I am not sure that --

20 MR. GROEDEL: Let him finish the
21 question.

22 MR. BONEZZI: Objection.

23 MR. GROEDEL: Objection. You're
24 assuming that that's what was present at
25 that time, then?

3 Q. Sure.

4 A. I will try not to interrupt you.

5 Q. That's fine, doctor. I don't want there to be
6 any misunderstanding, confusion with respect to
7 semantics.

8 If, in fact, the August 1987 slides had
9 been read as containing dysplastic cells, and
10 that follow-up had then occurred by either
11 repeat biopsy and/or colposcopy with additional
12 follow-up thereafter, based upon what we now
13 know ultimately progressed to be cervical
14 cancer, based on what you have just said, I
15 assume it's your opinion had that been done at
16 that time she would have been cured and probably
17 alive.

18 MR. GROEDEL: Objection.

19 MR. BONEZZI: Objection.

20 A. Okay. I'll try and answer that question.

21 Q. Okay.

22 A. I think that if a lesion had been detected
23 though a variety of means, and that lesion was
24 in the precancerous or early cancerous phase,
25 appropriate management instituted, that this can

1 be life-saving, so be it.

2 Q. Well, when you say can, I mean there are
3 distinctions in -- legal distinctions in the
4 terminology we use and I said probably because
5 it has legal connotations. Probably is defined
6 as 50 percent or more. Would she probably have
7 been cured and saved?

8 MR. BONEZZI: Objection.

9 MR. GROEDEL: Objection. Go

10 ahead.

11 Q. In your opinion?

12 A. If the first part of the premise is that
13 something had been detected --

14 Q. Yes, sir.

15 A. -- is valid, then the second part of the premise
16 I would say probably she could have been cured.

17 Q. Okay. And in retrospect as we look at it now
18 obviously with 20/20 hindsight --

19 A. Right.

20 Q. -- she died of cervical cancer, in terms of the
21 first part of the premise we can assume that had
22 an appropriate workup been done at that time
23 that the lesion would have been found?

24 MR. BONEZZI: Objection.

25 MR. GROEDEL: Objection.

1 Q. Can we not, doctor?

2 MR. BONEZZI: Objection.

3 Q. Probably?

4 MR. BONEZZI: Objection.

5 A. I think that I can't find any great -- now in
6 that line of argument. - -<

7 Q. Okay.

8 MR. KAMPINSKI: That's all I have.
9 Some of the attorneys might have some
10 questions. I'm done.

11 MR. BONEZZI: Doctor, even though I
12 have the right to ask you questions I
13 choose not to exercise that right at this
14 time.

15 MR. KAMPINSKI: And I disagree.
16 Since you are not going to ask questions
17 who cares.

18 MR. DAPORE: I have no questions.

19 MS. SFISCKO: I have a few
20 questions.

21 MR. GROEDEL: Almost made it out of
22 here.

23 MR. KAMPINSKI: I don't want to
24 confuse before we get started your stuff
25 with mine. I think this is all yours.

1 This is a CV we can hang on to.

2 THE WITNESS: I would say that
3 that's --

4 MR. KAMPINSKI: And you'll provide
5 us with copies of the --

6 MR. GROEDEL: Of the articles.

7 MR. KAMPINSKI: The reports.

8 MR. GROEDEL: Right.

9 MR. KAMPINSKI: I'm sorry, Joan.

10 - - - -

11 CROSS-EXAMINATION OF ERWIN R. RABIN, M.D.

12 BY MS. SFISCKO:

13 Q. Doctor, I represent the Mt. Sinai Medical
14 Center.

15 A. Sure.

16 Q. Do you have any opinions or any opinion
17 regarding the standard of practice of the
18 cytotechnologist Virginia Frageris?

19 MR. KAMPINSKI: I'm going to object
20 because he's already testified as to some
21 of those.

22 MS. SFISCKO: I wasn't clear.

23 A. Rephrase that question to me.

24 Q. Do you have any opinion or opinions regarding
25 the standard of practice of the cytotechnologist

Virginia Frageris?

A, No.

Q. You don't have any opinion regarding her standard of practice?

A. You know, I think she worked at our hospital for a brief period of time, and my recollection is that she was an expert cytotechnologist, but that's referring back maybe some years.

Q. Okay. Is it a standard of practice for the pathologists to look beyond the circles that a technologist would make?

A. It is a standard of practice if the pathologist is alert to some possibility of abnormality that he probably would naturally do that.

Q. So if the technologist makes her circles on the slide and the pathologist is now looking at this and the circles would indicate some sort of abnormality that she, he perceived, then he would or it would be the standard of practice for him not only to look at what was in the circles but what else was on the slide?

A. I would agree that most practicing pathologists would do that, just sort of naturally.

Q. Now, is it also the or would it be the standard of practice for a cytotechnologist to track

1 prior slides to compare with the present slides
2 or is that something that isn't normally within
3 the standard and practice for that person to do?

4 A. I think that my answer to that question is that
5 generally the responsibility is at the top and
6 if that were her job and directed as such and
7 she wasn't performing it that would be one
8 matter but if she was not directed to do that it
9 would not -- I would not consider that in her
10 realm of responsibility.

11 Q. So it would be incumbent upon the pathologist
12 then to say to her or he or him, whatever, to
13 yet the prior slides and would she compare them
14 or would the pathologist then compare them?

15 A. They both can do that.

16 Q. They can both do that?

17 A. They can both do that but I think that the
18 ultimate responsibility rests on pathologists
19 for directions of that type.

20 Q. To direct her to do that? Or him?

21 A. That would be how I would interpret that, yes.

22 Q. All right.

23 MS. **SFISCKO**: That's all. I have
24 no other questions. Thank you.

25 MR. **KAMPINSKI**: I have two

1 follow-ups, just those few questions,
2 Doctor,

3 - - - -

4 FURTHER CROSS-EXAMINATION OF ERWIN R. RABIN,
5 M.D.

6 BY MR. KAMPINSKI:

7 Q. Were any of the abnormalities that you pointed
8 out on your slides within the circles?

9 A. Oh, I think so.

10 Q. Were they?

11 A. Oh, I think so.

12 Q. We just didn't see the circles on the slides?

13 A. No, you wouldn't see them because obviously --

14 Q. Okay.

15 A. -- the magnifications would be higher than those
16 circles. You'd have to go to a low mag to see
17 the circles.

18 Q. And were you provided with either the deposition
19 or any summary of testimony of Mr. --

20 MS. SFISCKO: Biggs.

21 Q. -- Biggs?

22 MR. GROEDEL: No.

23 Q. You don't even know who he is, I assume?

24 A. No, sir. That's one I didn't have to read.

25 Q. If, in fact, you as a pathologist delegate

1 certain tasks to one of your employees who is
2 also employed at the hospital --

3 A. They're generally employees of the hospital.

4 Q. But they do provide services to you and you, in
5 fact --

6 A. They professionally are -- we're responsible for
7 their professional performance.

8 Q. Okay. Well, as employees of the hospital, the
9 hospital's also responsible for their
10 performance?

11 A. Absolutely, yes.

12 Q. And if you delegate a responsibility to one of
13 those employees, for example, to obtain prior
14 slides for comparison when there has been an
15 abnormality and you rely on them to do that,
16 sure, you are the captain of the ship and you
17 are ultimately responsible, but they're still
18 responsible for following your instructions, are
19 they not?

20 MR. GROEDEL: Objection. Go
21 ahead.

22 A. I would assume that if I ask them to do that and
23 it was consistent with one of their job
24 responsibilities that they would do that. If
25 they didn't do that I'd probably certainly find

1 them not responsible in that particular area
2 and --

3 Q. You mean responsible?

4 A. Yes. I think that I would ask them to do it, I
5 would expect them to do it. If they don't do
6 it, I would consider that less than optimal
7 performance, if you would.

8 Q. Substandard performance, actually?

9 A. Yes. I have no problem with that word.

10 Q. Okay.

11 MR. KAMPINSKI: That's all I have.

12 MS. SFISCKO: I have nothing else.

13 MR. GROEDEL: Okay.

14

15

ERWIN R. RABIN, M.D.

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Aneta I. Fine, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named ERWIN R. RABIN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Aneta I. Fine, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires February 27, 1996

W I T N E S S I N D E XPAGE

CROSS-EXAMINATION
ERWIN R. RABIN, M.D.
BY MR. KAMPINSKI

3

CROSS-EXAMINATION
ERWIN R. RABIN, M.D.
BY MS. SFISCKO

77

FURTHER CROSS-EXAMINATION
ERWIN R. RABIN, M.D.
BY MR. KAMPINSKI

80

E X H I B I T I N D E XEXHIBITMARKED

Plaintiff's Exhibits 1
through 9, slides

58

LAWYER'S NOTES

[illegible]