

IN THE CIRCUIT COURT OF COOK COUNTY, ILLINOIS  
COUNTY DEPARTMENT, LAW DIVISION

NO: 91 1 21091

MARK TURNER, a disabled person by his  
co-guardians, DIANE TURNER and WILL TURNER,

Plaintiffs,

vs.

CITY OF CHICAGO, a municipal corporation, d/b/a  
MUNICIPAL CONTAGIOUS DISEASE HOSPITAL, et al.,

Defendants.

DEPOSITION OF MICHAEL S. RADETSKY, M.D.

January 11, 1996

10:00 a.m.

500 Marquette, Northwest, Suite 280  
Albuquerque, New Mexico 87102

PURSUANT TO THE ILLINOIS RULES OF CIVIL PROCEDURE,  
this deposition was:

TAKEN BY: MR. BARRY GOLDBERG  
ATTORNEY FOR THE PLAINTIFFS

REPORTED BY: MARY ABERNATHY SEAL, RPR, RMR, RDR, NM CCR #69  
Bean & Associates, Inc.  
Professional Court Reporting Service  
500 Marquette, Northwest, Suite 280  
Albuquerque, New Mexico 87102

5222-8 MAS

RECEIVED

JAN 18 1996

GOLDBERG & GOLDBERG

SANTA FE OFFICE  
123 East Marcy, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949  
FAX (505) 820-6349

**BEAN & ASSOCIATES, Inc.**  
PROFESSIONAL COURT  
REPORTING SERVICE

MAIN OFFICE  
500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494  
FAX (505) 843-9492  
1-800-669-9492

## A P P E A R A N C E S

For the Plaintiffs:

GOLDBERG & GOLDBERG  
Attorneys at Law  
33 North Dearborn, #1930  
Chicago, Illinois 60602  
BY: MR. BARRY GOLDBERG

For the Defendants:

MS. BARBARA A. McDONALD  
Assistant Corporation Counsel  
City of Chicago Department of Law  
30 North LaSalle Street, Room 800  
Chicago, Illinois 60602

## I N D E X

PAGE

MICHAEL S. RADETSKY, M.D.

Examination by Mr. Goldberg

CERTIFICATE OF COMPLETION OF DEPOSITION

SIGNATURE/CORRECTION PAGE

## EXHIBITS MARKED OR FORMALLY IDENTIFIED

1 Curriculum Vitae

2 Defendants' Answers to Rule 220 Interrogatories

3 Letter dated January 8, 1996

4A and B Dr. Radetsky's typewritten notes

5 Medical records

6 Letter dated April 17, 1991

7 Plaintiff's Supplemental Answers to

Rule 220 Interrogatories

8 Letter dated November 30, 1995

9A Letter dated November 7, 1995

9B Letter dated January 2, 1996

9C Letter dated January 4, 1996

MICHAEL S. RADETSKY, M.D.,

after having been first duly sworn under oath, was  
questioned and testified as follows:

EXAMINATION

BY MR. GOLDBERG:

Q. Doctor, I'm going to be asking you lots of  
questions. If I ask a question in any way that you don't  
understand, just ask me to rephrase it or explain something, if  
there's any question or doubt about it. All right?

A. That would be fine.

Q. Try to avoid doing what all normal folks do, uh-huh,  
um-hmm, going like this, shaking your head up and down. She  
can't get anything other than an audible answer recorded  
accurately, all right;?

A. Sure.

Q. This is a CV I was given just at the beginning of  
this. Is this current?

A. Yes, it is.

Q. We'll make that Exhibit 1.

(Exhibit 1 marked for identification.)

1 (A discussion was held off the record.)

2 Q. I would like, please, for the record, any exhibit  
3 marked and identified be physically -- unless we, the  
4 attorneys, both stipulate that we don't need it to be attached  
5 physically to the deposition -- any of those that don't fall  
6 within that category, please make sure they are.

7 Doctor, have you seen answers to Rule 220  
8 interrogatories? Have you seen this document? I don't mean  
9 the exact one I'm holding in my hand, but have you seen one  
10 like that recently?

11 A. I believe so.

12 Q. When did you see it?

13 A. I honestly don't remember, but -- and in all  
14 honesty, what I may have seen would just have been my portion  
15 of this, In other words, the portion attributed to me.

16 Q. Where is that copy?

17 A. I'll look through my file. But it looks familiar,  
18 but I don't have an exact memory of seeing it.

19 Q. All right. Have you seen this letter of January 8  
20 which we'll make Exhibit 3, the prior one Exhibit 2, and I'll  
21 have you mark it in a moment.

22 A. No.

23 (Exhibits 2 and 3 marked for identification.)

24 Q. Doctor, in your curriculum vitae, is there any  
25 article, journal, any work, research, that you have done that

1 bears directly upon, as you perceive it, any of the issues in  
2 this case?

3 A. Yes.

4 Q. Would you just turn to your copy and tell me which  
5 of the articles or what literature, research, specifically  
6 impact upon that?

7 A. You have the only copy, sir.

8 Q. Can we have someone make another copy, while we're  
9 working?

10 (A discussion was held off the record.)

11 Q. Give me the page, and then we'll get the  
12 identification.

13 A. Page 11, reference 6. Page 11, reference 13. Page  
14 11, reference 14. Page 11, reference 17. I believe that's  
15 all.

16 (A discussion was held off the record.)

17 MR. GOLDBERG: Show the deposition began timely  
18 at 10:00.

19 Q. Doctor, just so we can get this out of the way, it's  
20 my understanding for personal reasons that you have to leave  
21 somewhere around 4:00, 4:15.

22 A. That's correct, sir.

23 Q. Involving children problems -- or not problems, but  
24 is that correct?

25 A. That's correct.

1 Q. And that's unexpected, I presume. You didn't expect  
2 that, anticipate it; right?

3 A. Yes, my wife, because of work considerations, will  
4 not get home until about 10:00 tonight, so I'm forced to deal  
5 with the children.

6 Q. Just understand that we're going to proceed with the  
7 deposition. I'm not making any aspersion, but we had hoped  
8 that we would be able to go to conclusion. I'll try to go as  
9 far as I can, okay? We'll continue.

10 With regard, Doctor, to these items that you have  
11 picked out, 6, 13, 14 and 17, do you have copies of these  
12 somewhere?

13 A. Yes.

14 Q. Where would that be?

15 A. In my office.

16 Q. Something that, if I asked you, you could, at my  
17 expense -- or give to counsel and photocopy?

18 A. If **Ms.** McDonald asks me to do something, I certainly  
19 would do it.

20 MR. GOLDBERG: Ms. McDonald, would you **ask** the  
21 doctor to -- I'll pay my share, of course -- have copies of  
22 those made, 6, 13? **And** for the record, I'm circling those that  
23 he identified, 6, 13, 14, and 17.

24 A. If I could ask that at the end of the deposition,  
25 I'm given the numbers again.

Q. I'm going to give you a copy of the CV. We're going  
to make other copies

How old are you, Doctor?

A. 50.

Q. In 1970, where professionally in your practice were  
you?

A. I was not practicing medicine. It was prior to my  
medical school.

Q. Were you in college?

A. In 1970, I was working at the Tufts New England  
Medical Center as a pediatric cardiology technician.

Q. Had you goals, then, of going to medical school?

A. No.

Q. Had you completed college?

A. Yes.

Q. As a technician, did you have the occasion to deal  
with doing laboratory work?

A. Of what sort, sir?

Q. Well, that's what I'm asking. Of any type?  
Laboratory, did you work in a laboratory?

A. We worked in a cardiovascular laboratory, yes.

Q. Did you deal with blood workups of any kind?

A. We would occasionally draw blood samples if directed  
to do so.

Q. In 1970, Doctor, at Tufts, were you working in a

1 medical school setting?

2 A. No, I was working at the Boston Floating Hospital  
3 for Children, which is appended to Tufts. It is part of the  
4 teaching program of Tufts University, however.

5 Q. Did they have laboratories there, microbiology and  
6 blood labs?

7 A. Yes

8 Q. Do you know what the turnaround time was for  
9 various -- do you recall -- laboratory tests?

10 A. No.

11 Q. With reference, Doctor, to this case, what materials  
12 have you reviewed?

13 A. I brought them all with me, Mr. Goldberg. I don't  
14 have an inclusive list of them, but they're in that box over  
15 there.

16 Q. Pretty heavy, huh?

17 A. I had to carry it myself.

18 Q. Well, no sympathy from the lawyers in this case.  
19 Would you be kind enough -- you don't have a list, I take it,  
20 right?

21 A. No, I do not.

22 Q. This manila folder you have -- are there documents  
23 in it concerning this case?

24 A. Yes, there are a few.

25 Q. What are those things that are in the manila

1 folder? Let's start with that.

2 A. Sure. I took excerpts from the case records on a  
3 word processor.

4 Q. Excerpts, word processor. One of those people, huh

5 A. I have an original copy, and I made a copy for you  
6 if you so desire it.

7 Q. Please. So that we're clear, we'll mark this  
8 Exhibit 4, A and B. You'll keep the original, I'll take B to  
9 mark. You worked at a computer and you then, as to certain  
10 depositions, I presume, took portions of statements and just  
11 typed on this document; right?

12 A. No, these are entirely from the medical records. N  
13 depositions are included.

14 Q. So this is just the chart itself?

15 A. Yes, but it's not inclusive of the entire chart.  
16 It's portions of the chart presented in a chronological form  
17 for ready reference.

18 Q. How long did that take you?

19 A. I don't remember. A while.

20 Q. Have you had this for very long? How long ago did  
21 you complete this?

22 a. I completed the major part of it when I did my first  
23 review, and based on rereview of the medical records or the  
24 ability to decipher handwriting, I have added a few things to  
25 it since then.

1 Q. By that statement that you have added a few things  
2 as a result of deciphering handwriting, did anyone assist you  
3 in that?

4 A. No, I did it all myself.

5 Q. All yourself. Okay. Are you able to determine what  
6 was added and what was your initial review?

7 A. Not easily, no.

8 MR. GOLDBERG: Mark this Exhibit 4B, A being the  
9 original, which the doctor will keep.

10 (Exhibits 4A and 4B marked for identification.)

11 Q. How much time would you estimate you spent in total  
12 on this case, Doctor?

13 A. I can't actually estimate it, because I haven't made  
14 a final time tally. Certainly over ten hours.

15 Q. Can you be any more specific than over ten hours?

16 A. No, I can't.

17 Q. You have never kept records of your time?

18 A. I do have records of the time back at the office,  
19 but I have them on a yellow sticky somewhere in the office for  
20 later use.

21 Q. When we resume this deposition, would you be kind  
22 enough, the next time, to bring with you what that tally is, or  
23 if there's some way, on a break, that you could get it? Ten  
24 hours is as close as you can estimate the time you have put in  
25 this case to date?

1 A. No, I said over ten hours.

2 Q. Can you be any more specific as to how many hours?  
3 Are we talking about 20, 50, 100, 500?

4 A. I can't be any more specific now, but I will compute  
5 it for you. It would be included in the invoice that I would  
6 send to Ms. McDonald after this day is over, however, and I  
7 presume that you could obtain a copy of that.

8 Q. When this day is over, what do you plan on charging  
9 an hour for review and deposition?

10 A. Reviews are \$350 an hour. Deposition is \$400 an  
11 hour.

12 Q. Trial?

13 A. Travel time, \$350 an hour, with a 12-hour a day  
14 maximum. Testimony time, \$400 an hour.

15 Q. There's a lot of preliminary stuff before I get into  
16 the case, so let me get to that. How many times have you ever  
17 acted as an expert witness?

18 A. I would say over the last 13, 14 years, around 100  
19 cases I have reviewed.

20 Q. Do you know how Ms. McDonald got your name?

21 A. No, I do not.

22 Q. Do you have any evidence of documents of your first  
23 contact?

24 A. I have the correspondences, yes.

25 Q. So the correspondence is here?

1 A. They're in this manila folder.

2 Q. Getting back to the manila folder, why don't we  
3 first identify what's in the manila folder, if you'd be kind  
4 enough to do that?

5 A. Sure. I took out of the case records the xerox copy  
6 of the graphic chart and nurses' notes from the Municipal  
7 Contagious Disease Hospital.

8 Q. We'll make that Exhibit 5.

9 (Exhibit 5 marked for identification.)

10 Q. I'll give it back to you. I'll give it back. I'm  
11 not keeping it. We just want to make a copy of everything. I  
12 just want to see what it is.

13 A. I have a copy of a report authored by a Dr. Robert  
14 Eilers, dated April 17, 1991, on the child.

15 Q. We'll make that Exhibit 6.

16 (Exhibit 6 marked for identification.)

17 A. I have a copy of Plaintiff's Supplemental Answers to  
18 Rule 220 Interrogatories.

19 Q. We'll make that Exhibit 7.

20 (Exhibit 7 marked for identification.)

21 A. I have a copy of an invoice that I sent to **Ms.**  
22 McDonald on November 30, 1995.

23 (Exhibit 8 marked for identification.)

24 A. **And** then I have three letters from Ms. McDonald that  
25 I received at various times.

1 Q. We'll make that A, B and C, three letters, from Ms.  
2 McDonald, one November 7, 1995, one January 2, 1996, and one  
3 January 4, 1996.

4 (Exhibits 9-A, B and C marked for  
5 identification.)

6 Q. Doctor, we've now completed the materials in that  
7 packet; is that correct?

8 A. Yes.

9 Q. On any of these documents, did you make any  
10 highlighting or notes specifically that - I'll give it all  
11 back to you.

12 A. No, I did not.

13 Q. Did you make any notes, separate notes?

14 A. Other than the -

15 Q. One exhibit of the chart.

16 A. Other than the ones that you have before you, no.

17 Q. Getting back, Doctor, to where we were, in the 13 t  
18 14 years you have been acting as a witness, how many times hav  
19 you testified in court?

20 A. I would say around a dozen times.

21 Q. What state or states have those been in?

22 A. I don't know if I can remember them all, but of the  
23 ones I can, Hawaii, California, New Mexico, Colorado, Wyoming,  
24 Missouri, Illinois.

25 Q. You were doing great until you got to Missouri.

1 Skiing and fishing. Wonderful. Any others?

2 A. North Carolina. New Jersey, I believe.

3 Q. Of the dozen or so times in court, what percentage  
4 have been for the defense, what percentage for the plaintiff?

5 A. In court, I have only testified once for the  
6 plaintiff.

7 Q. Where was that one time?

8 A. In Albuquerque.

9 Q. How long ago was that?

10 A. Seven or eight years ago.

11 Q. What law firm?

12 A. Excuse me?

13 Q. What law firm, if you recall?

14 A. I don't recall the law firm. I'm sorry.

15 Q. **of** the approximate 100 cases that you have been  
16 involved with, what percentage for the plaintiff, what  
17 percentage for the defense?

18 **a.** I would say 10 to 15 percent for plaintiff.

19 Q. Can you name any plaintiffs' attorneys from memory  
20 that you have worked with?

21 **A.** No, I can't. I'm sorry.

22 Q. Has your name ever been used in a service *of* any  
23 kind, or have you ever worked with a service?

24 A. Not to my knowledge.

25 Q. Do you know how times you have worked for the City

1 of Chicago or the corporation counsel?

2 A. This was the first time.

3 Q. With reference, Doctor, to the 100 approximate in  
4 number cases over 13 to 14 years, have you testified concerning  
5 a specific area, or has it been a variety of areas?

6 A. I have testified primarily in the area of infectious  
7 disease, although I have given testimony also regarding  
8 critically ill children, both newborns and older children.

9 Q. Is and are the subjects of pertussis and otitis  
10 media and Hemophilus influenzae type B within the areas of your  
11 expertise?

12 A. Yes.

13 Q. Is or are those the areas of infectious disease  
14 processes that were involved in your opinion in this case?

15 A. They were infectious disease elements in this case,  
16 yes.

17 Q. Are there any other elements from your review that  
18 you considered in addition to those?

19 A. Well, Ms. McDonald asked me to review the case from  
20 the point of view of the link, if any, between the timing of  
21 the evaluation and treatment for meningitis and outcome, and  
22 that was my focus. From that particular point of view, the  
23 only relevant topic is the Hemophilus influenzae meningitis.

24 However, I read all of the case records, obviously,  
25 and in those case records elements included the ones you named,

1 infectious gastroenteritis, evaluation of children with fevers  
2 acute arthritis, subdural effusions, seizure disorders,  
3 strokes. Those are other elements.

4 Q. Have we completed the elements?

5 A. I think those are the highlight elements, yes.

6 Q. With regard, Doctor, to your assignment that Ms.  
7 McDonald asked you, when were you first asked to do that?

8 A. At the end of 1995. I have a letter dated November  
9 7, 1995, which accompanied the first wave of records being sen  
10 to me.

11 Q. Is infectious gastroenteritis in the area of your  
12 expertise?

13 A. Yes.

14 Q. Fever?

15 A. Yes.

16 Q. In children? Acute arthritis?

17 a. Yes.

18 Q. Subdural effusions?

19 a. Yes.

20 Q. Seizure disorders?

21 A. Yes.

22 Q. Strokes?

23 A. Yes.

24 Q. In each of those areas I have described, they're no  
25 exclusive to the area of infectious disease, are they, Doctor?

1 A. Well, nothing is exclusive to anything in medicine.  
2 I don't know how better to answer that question.

3 Q. By that I mean, in all fairness to both of us, there  
4 are physicians that are pediatricians, family practitioners,  
5 infectious disease specialists, neurologists, pediatric  
6 neurologists that, in fact, at various institutions are called  
7 in to be involved in the management of one or any of these; is  
8 that correct?

9 A. Yes.

10 Q. Including gastroenterologists; correct?

11 A. Yes.

12 Q. Is there a certain basic body of information that  
13 you would, in your opinion, say is not other than expected of  
14 all physicians regarding these areas, as a general body of  
15 information?

16 A. I didn't understand the question.

17 Q. Well, do you, as far as you understand it -- and  
18 were you trained and taught that there are standard norms that  
19 are used as criteria for evaluation and assessment?

20 A. I think that over -- that at each time in the  
21 evolution of medicine, there are general approaches and options  
22 which are available to clinicians when confronted with clinical  
23 problems. But there has never been a standard or a norm at any  
24 time, to my knowledge.

25 Q. There's never been a standard or norm of what?

1           A.       There's never been a single standard or a single  
2 normal approach to any medical problem, to my knowledge. It  
3 all has to do with acceptable available options and reasonable  
4 management schemes within which prudent clinicians will work.

5           Q.       With reference, Doctor, to fever, are there standard  
6 norms used, centigrade and Fahrenheit, for measurement?

7           A.       Again, I don't quite understand the question.

8           Q.       Is there a range of normal that you were taught to  
9 consider in assessing and managing patients?

10          A.       The demarcation between normal and elevated  
11 temperature has no universal threshold. There are a number of  
12 numbers that are used as well as referred to, but there is no  
13 universal agreement as to when a temperature is elevated to the  
14 point that it becomes a fever.

15          Q.       Well, let me ask you, what do you define as a fever  
16 then, if that's the case, so we can get the barriers and  
17 demarcation lines drawn?

18          A.       Well, you understand that whatever I define is  
19 certainly not incumbent on others to accept.

20          Q.       I understand. But I want to find out what you use.

21          A.       I think for the child who is older than 1 to 2  
22 months of age, I use a temperature of 38.3 degrees centigrade  
23 taken rectally as a good demarcation point, although it  
24 sometimes depends on the time of day, the ambient temperature,  
25 and other factors that may artificially raise core body

1 temperature.

2 In the neonate, I think a good dividing line is  
3 better established at 38 degrees centigrade taken rectally.  
4 But no matter what number is picked, it's more a clinical  
5 threshold question than a physiologic question, inasmuch as  
6 fever's only use is to act as a warning sign for possible  
7 illness and as a trigger to a further evaluation. Therefore,  
8 inherently, there must be some arbitrariness about establishing  
9 a threshold.

10 (The record was read by the reporter.)

11 Q. With regard, Doctor, to Fahrenheit, translate 38.3  
12 into Fahrenheit for me, please.

13 A. Sure. 38.3 is about 101.5 degrees, 101 to 101.5. I  
14 can do it exactly, if you want me to actually calculate it  
15 out.

16 Q. so 101 to 101.5?

17 A. Why don't you let me actually do the calculation?

18 Q. **So** this is not something that you routinely deal in  
19 Fahrenheit? You deal in centigrade?

20 a. Correct.

21 Q. **Well**, let's see if **you** have got the computer,,and  
22 then we'll **use** it, I love and hate people that can do what  
23 you're doing.

24 A. 101 is 38.3, and 100.5 **would** be 38 degrees.

25 Q. In your career, Doctor, would you give me a ballpark

1 estimate of the numbers of times you have diagnosed and been  
2 involved in the management of pertussis?

3 A. I would say 50 to 100 times over the course of now  
4 22, 23 years.

5 Q. When was the first time?

6 A. In medical school, in Montreal.

7 Q. The last time?

8 A. About two months ago.

9 (A discussion was held off the record.)

10 Q. With regard to pertussis in contradistinction to  
11 pertussis-like syndrome, have you ever heard that phrase used?

12 A. Yes.

13 Q. Is there a difference?

14 A. Yes.

15 Q. Would you define and distinguish the two for me,  
16 please?

17 A. Pertussis is a microbiological diagnosis. It means  
18 that you have either isolated the organism or you have used  
19 some other diagnostic technique to prove the presence of the  
20 organism as the causative agent of the clinical illness.  
21 Pertussis-like syndrome is the clinical illness independent of  
22 its cause.

23 Q. And of course, you mean in lay terms the bug, if you  
24 will; right? Very basic, I'm just trying to get to the point.  
25 There are numerous types of organisms that can cause pertussis

1 right? Many?

2 A. Well, pertussis syndrome can be caused by certainly  
3 more than one organism. The actual number of organisms that  
4 have been implicated in pertussis-like syndrome is relatively  
5 restricted, however.

6 Q. Under five?

7 A. I think so.

8 Q. And pertussis, when it's in a microbiological  
9 diagnosis, true pertussis, is how many organisms that are  
10 associated with it?

11 A. One.

12 Q. Which is?

13 A. Bordetella pertussis.

14 Q. How does one go about identifying the organism?

15 A, Are we talking about in 1996, now, sir?

16 Q. Yes.

17 A. You can diagnose it usually one of three ways. You  
18 can either culture it, you can use serology, meaning blood  
19 samples taken at two different points during the illness  
20 looking for a change in the antibodies to the illness; or you  
21 can use a noncultural diagnostic technique, of which there are  
22 a number.

23 Q. Such as?

24 A. Fluorescent antibody technique is the most common.  
25 One can use an enzyme linked immunoassay, and nowadays there is

1 a polymerase chain reaction available in research centers for  
2 pertussis.

3 Q. Did you do any research to determine or assess what  
4 in 1977, were the methodologies for diagnosing and treating an  
5 of these elements we've called --

6 MS. McDONALD: You mean 1977 or 1970?

7 MR. GOLDBERG: 1970. Did I say 1977? I  
8 apologize. 1970.

9 A. No.

10 Q. Do you know which of the methodologies that you  
11 referred to as being available in 1996 were available in  
12 Chicago in 1970?

13 A. I can make an educated guess, but I don't know  
14 exactly.

15 Q. By the way, Doctor, so that I don't have to repeat  
16 this, can you keep this in mind? Any questions I ask you, I'm  
17 only interested in those opinions which can be elevated to a  
18 reasonable degree of medical and surgical probability, and if  
19 they are opinions that you can say based upon a reasonable  
20 degree of medical and surgical possibility, I will use and  
21 accept both of those. But I want you to differentiate between  
22 possibility and probability. Would you do that?

23 A. Yes.

24 Q. Also, if I ask any questions on standards of care,  
25 I'm only interested in what opinions you believe you can give

1 based upon your background, education, training, that you can  
2 give as to the time period of 1970 in the Chicago area or  
3 similar areas. All right?

4 A. Fine.

5 Q. When you mentioned a moment ago an educated guess,  
6 is that educated guess based upon the fact that you went  
7 through a normal medical school, residency, internship type of  
8 process that would have given you some insight as to the time  
9 period in question?

10 A. Yes. My medical school began in 1973. I don't  
11 believe circumstances were different based on that  
12 two-and-a-half-year time period.

13 Q. So you have some clear understanding of what and how  
14 things were done in 1973, and you feel that it was also being  
15 done at or about that time in 1970, pretty much the same way;  
16 right?

17 A. Yes, sir.

18 Q. What is the only one or two of these methodologies  
19 that are new that was not available then, in your opinion, in  
20 1970?

21 A. Well, culture was available. Culture was available  
22 and the noncultural techniques were not, and serology was  
23 available, but not used.

24 Q. What specific serology are you referring to?

25 a. This would be acute and convalescent sera for the

1 antibody response to Bordetella pertussis.

2 Q. Getting back for a moment to your testifying as an  
3 expert, how many times have you testified on any area of  
4 meningitis? Let me restate that. Not testified. How many  
5 times have you been an expert or a consultant?

6 A. I don't know, sir.

7 Q. Can you give me your best estimate? More than  
8 five? Less than five?

9 A. More than five.

10 Q. Would it be the area which in most of these cases  
11 you were involved with the highest percentage?

12 A. I think of any single area, it's the one which has  
13 the largest number of cases that I have been involved in, yes.

14 Q. Can you be any more specific than above five in  
15 number, or is that as close as you can estimate for me?

16 A. It would just be a --

17 Q. Ballpark. I'm just looking for an educated guess.

18 A. I honestly don't know.

19 Q. Let's talk about meningitis. In your career, how  
20 many times have you diagnosed it?

21 A. By diagnosing, you mean made the initial diagnosis  
22 myself?

23 Q. Yes. I'm going to get into the diagnosed or been  
24 involved in those cases and we'll separate them. But I want to  
25 keep them separate.

SANTA FE OFFICE  
123 East Marcy, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949  
FAX (505) 820-6349

**BEAN**  
**& ASSOCIATES** Inc.  
PROFESSIONAL COURT  
REPORTING SERVICE

MAIN OFFICE  
500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494  
FAX (505) 843-9492  
**1-800-669-9492**

1 A. I would say 50 to 100 times.

2 Q. With reference to meningitis, Doctor, in those 50 to  
3 100 times, what percentage of those were in your training  
4 program period?

5 A. I can't estimate, sir.

6 Q. When did you first see it?

7 A. In medical school in the 1970s.

8 Q. When did you last see it?

9 A. About two weeks ago.

10 Q. With regard, Doctor, to the greatest number of cases  
11 you were exposed to, what era or time period was it? What  
12 years?

13 A. I was exposed to the greatest number of cases of  
14 meningitis during the years 1982 to approximately 1991.

15 Q. May I ask what you ascribe medically or attribute to  
16 that?

17 A. I would say two factors. One is that my positions  
18 during those years included work in infectious diseases and  
19 critical care. Therefore, I was involved in almost every case  
20 of meningitis that came through the respective institutions  
21 with which I **was** associated during those times.

22 And secondly, the **use** of the Hemophilus vaccine had  
23 not made inroads into the incidence of disease until the early  
24 1990s.

25 Q. I take it it's your opinion that, fortunately, those

1 inoculation programs have had an impact on the frequency of  
2 this disease in America, the USA.

3 A. Yes.

4 Q. How many cases of meningitis have you, in fact, been  
5 involved with that you didn't initially make the diagnosis, but  
6 were involved in the management thereof? Over and above this  
7 50 to 100, I'm talking about.

8 A. I have been involved in hundreds of cases. I  
9 honestly don't know how many hundreds.

10 Q. Is there a body of literature, Doctor, dealing with  
11 meningitis?

12 A. Yes.

13 Q. Is there a body of literature dealing with  
14 meningitis that you have ever specifically done formal research  
15 on?

16 A. I don't quite understand that question.

17 Q. Have you ever done formal research on meningitis?

18 A. Yes.

19 Q. In the lab? When?

20 A. Excuse me. In the laboratory?

21 Q. In a lab or scientific setting. Hospitals included,  
22 if you want to consider that.

23 A. Well, I have done a scholarly work in meningitis,  
24 some of which included specific laboratory work. Is that an  
25 answer? Yes, that's my answer.

1 Q. What scholarly work? What does that mean when you  
2 say "scholarly work"?

3 A. Sure.

4 Q. Many physicians would have said everything they do  
5 is scholarly, so -- and I'm not being facetious.

6 A. Some days it feels that way, and some days it  
7 doesn't.

8 I have done specific research, either research in  
9 mining the medical literature or specific studies which have  
10 resulted in publications, and those are included in my  
11 curriculum vitae.

12 Q. In the infectious disease specialty area, you  
13 consider Cherry and Feigin one such learned work, a recognized  
14 authority in the field?

15 A. I believe he pronounces his name Feigin.

16 Q. Okay. I'll accept that.

17 a. It is a comprehensive textbook. It's not  
18 authoritative in the sense that it adds to the body of  
19 knowledge, but it is not dispositive of that knowledge.

20 Q. Nothing is, is it?

21 A. No.

22 Q. Nothing. And maybe you could tell me something that  
23 is, but some physicians are willing to say some works are  
24 considered authoritative, and others are willing to say nothing  
25 is authoritative. You're somewhere in between the two?

1 A. Nothing that's published has the final word, sir.

2 Q. With regard, Doctor, to the field and specialty of  
3 meningitis, tell me what are the learned texts that you  
4 consider, if one were to go to, or you would send a resident in  
5 infectious disease to, that you would say, "This is a good  
6 source" as an initial Bible sort of situation.

7 A. I don't know if I'd ever use that phrase. It  
8 depends what the purpose of the search is.

9 Q. Meningitis.

10 A. I understand that. But if the purpose of the search  
11 is to gain some background information about meningitis, you  
12 must understand that most residents have short attention span.  
13 I probably would not send them to the Feigin and Cherry  
14 textbook, which they probably would not finish the article. I  
15 might choose one of a number of different available textbooks,  
16 or --

27 Q. Such as?

18 A. -- or published articles.

19 Q. Texts.

20 A. And I say such as, not because these are the premier  
21 publications or even because necessarily they are the most  
22 definitive publications, but they are publications. And  
23 therefore, that's all you have to choose from.

24 I might ask them to take a look at the textbook by  
25 Dr. Saul Krugman, now out in its ninth edition, which has quite

1 a nice introductory chapter to meningitis. I might ask them to  
2 take a look at the chapter by Dr. Mel Marks in his single  
3 authored textbook of infectious disease.

4 If I thought it was more important to learn about  
5 the setting of meningitis amongst different other diagnostic  
6 possibilities, I might send them to Dr. Hugh Moffit's textbook,  
7 which is now somewhat outdated, being four years old, but is  
8 really quite a wonderful work to the thinking processes in  
9 medicine. I might ask them to take a look at the most recent  
10 incarnation of the so-called white paper on meningitis, this  
11 one being published around 1992, in the Pediatric Infectious  
12 Disease Journal authored by Dr. Feigin, Dr. McCracken, and Dr.  
13 Klein.

14 Q. From Harvard?

15 A. Dr. Klein is at Boston University. But probably  
16 what I would do is give them a lecture on meningitis and not  
17 refer them to anything.

18 Q. To gather the information to give that lecture, did  
19 you make use of any literature in the form of texts?

20 A. I have been interested in meningitis my whole  
21 practice life. I keep up on the literature as a normal course  
22 of events. I wouldn't have to actually go back and do anything  
23 more.

24 Q. But to get to that stage, did you ever use texts in  
25 the initial starting point?

1 A. I have read all of the textbooks.

2 Q. Good. Have you also done literature searches on the  
3 subject?

4 A. Yes, for specific projects.

5 Q. Have you ever done a Medline or Index Medicus search  
6 specifically on meningitis and any particular type of  
7 meningitis?

8 A. No.

9 Q. That is available to be done, isn't it?

10 A. It is, but you know, you get more than you bargain  
11 for there, and it's very hard to winnow it down to a manageable  
12 level. There's an infinite literature on meningitis.

13 Q. There is, isn't there?

14 A. Yes.

15 Q. Hundreds and hundreds of articles.

16 A. Yes.

17 Q. Is there any article on the subject of meningitis  
18 which you consider to be the leading article or series of  
19 articles, or is there a position paper that you consider to be  
20 one of the recognized types of papers?

21 A. Upon which aspect of meningitis?

22 Q. Let's talk about H. flu meningitis type B.

23 A. Which aspect of meningitis?

24 Q. That's broad enough to narrow it down.

25 A. No, it's too broad a topic. There's nothing which

1 is the leading position paper on H. flu meningitis.

2 Q. Type B, -- has that been referred to as 1, 2, or 3  
3 in other articles or compendiums?

4 A. No.

5 Q. That's separate, isn't it? 1, 2 and 3?

6 A. Yes.

7 Q. What does H. flu meningitis type B specifically  
8 mean?

9 A. It means meningitis caused by that specific  
10 organism.

11 Q. How many cases of a meningitis have you been  
12 involved with directly and indirectly, the first one being 50  
13 to 100, as I recall, and the second group being hundreds, but  
14 you couldn't say how many -- narrowing it down more so?

15 A. I'm sorry, what was the question?

16 Q. Narrowing it down now to that, how many --

17 MS. McDONALD: Those caused by H. flu type B?

18 Q. Right, type B. Of the direct diagnosis, and  
19 indirect involvement.

20 A. 80 to 90 percent. Correction. I would say more  
21 like 80 percent, because I include in there group B  
22 streptococcal meningitis of the newborn, of which I have seen a  
23 fair number of cases.

24 Q. I was going to get to that. Now, of group B, by the  
25 way, H. flu meningitis type B is that what you call group B,

1 when you just use the phrase?

2 A. No, I said group B streptococcal meningitis of the  
3 newborn.

4 Q. What percentage of the type -- let's start over, H  
5 flu meningitis type B. What percentage of those have been  
6 involved with the newborn?

7 A. I have seen one case in my life.

8 Q. Other than that, all of it would be other than  
9 newborn?

10 A. Correct.

11 Q. Now, Doctor, H. flu meningitis type B. Have you  
12 written any papers in the items that you earlier listed for me  
13 specifically dealing with that issue?

14 A. I have written no papers that have been limited onl  
15 to that particular organism.

16 Q. Have any of the papers that you highlighted for me,  
17 pointed out to me -- did they include this specific organism?

18 A. Yes.

19 Q. **All of** them that you mentioned -- you can look.  
20 It's not a memory contest.

21 A. **Yes.**

22 Q. Have you done any long-term follow-up care or has  
23 anyone done long-term follow-up care that reported to you on  
24 the outcomes of these particular patients, limiting it to H.  
25 flu meningitis type B?

1 MS. McDONALD: The ones he's been involved in the  
2 treatment of?

3 MR. GOLDBERG: Direct and indirect, yes.

4 A. No. I have taken care of them, some of them in  
5 follow-up care, but I do not have a comprehensive follow-up  
6 registry of these patients.

7 Q. There have been such studies done, have there not,  
8 that are published?

9 A. Yes.

10 Q. We will obviously be doing -- and I'll be asking you  
11 lots of questions about H. flu meningitis type B, but I want to  
12 just go back to where I was a moment ago. In the cases that  
13 you have been involved with, you told me the numbers that you  
14 testified in were approximately a dozen, give or take. How  
15 many depositions have you given?

16 A. I would say around 50, plus or minus.

17 Q. How many for the plaintiff?

18 A. 10 or 15 percent.

19 Q. How many of those depositions involve meningitis of  
20 any type, if you recall?

21 A. I can only recall one, but there may be more.

22 Q. Some doctors, after the case **is** over, throw away all  
23 the papers, depositions, everything. Others keep them. Which  
24 group are you within?

25 A. I'm the throw away type.

1 Q. So you don't have any of the depositions or  
2 materials from cases other than those that you may actively be  
3 involved with; is that correct?

4 A. That's correct.

5 Q. At present, how many cases are you actively involve  
6 with?

7 A. I honestly don't know.

8 Q. What percentage of your income comes from  
9 testifying?

10 A. From testifying?

11 Q. Consulting, testifying. The whole gambit. Expert  
12 witness work.

13 A. I would say over the years that I have been doing  
14 it, it averages about 15 percent.

15 Q. How about in the last three years, five years? Has  
16 it been less or more than 15 percent?

17 A. I honestly don't know. That's probably my best  
18 estimate.

19 Q. *And* there's no way that you can be more precise at  
20 this moment; right?

21 A. That's correct.

22 Q. **In 1995** do you know what amount of money or income  
23 you made in testifying?

24 A. No.

25 Q. Do you know what percentage of your income came fro

1       testifying?

2           A.       No.

3           Q.       Do you know how many depositions you gave in 1995?

4           A "      No.

5           Q.       How many cases you testified in?

6           A.       No.

7           Q.       How many cases you reviewed?

8           A.       No.

9           Q.       1994, same questions.

10          A.       No, I don't know.

11          Q.       1993?

12          A.       Again.

13          Q.       1992?

14          A.       Same.

15          Q.       1991?

16          A.       Same, sir.

17          Q.       Is the procedure that has been followed by you in  
18 these cases pretty much the same? You're asked to make an  
19 assignment, and then you do it?

20          A.       I don't understand that at all.

21          Q.       In acting as a consultant, in an expert capacity,  
22 someone calls you up and asks you something and then you see if  
23 you can do it or not; right? Ms. McDonald called you and gave  
24 you an assignment, which is what I heard you say. And I'm  
25 wondering, is that the way it works generally for and with

1 you? Someone calls you up, asks you would you look at a  
2 particular case, you review it, and then you give them an  
3 answer if you're capable; correct?

4 A. Yes.

5 Q. And that's all I'm saying. It's nothing complex.  
6 Is that how it's worked in the past?

7 A. More or less, I think that's the way it's gone in  
8 the past. I didn't know there was any other way that it's  
9 done, so I'm a little bit --

10 Q. There are lots of ways it's done.

11 A. -- at a loss.

12 Q. Do you, Doctor, ever ask for additional materials  
13 that you feel you may need to look at?

14 A. Sometimes.

15 Q. Rather than just what's sent to you?

16 A. Sometimes.

17 Q. Did you do that in this case?

18 A. Yes.

19 Q. What did you ask for?

20 A. I asked to see Dr. Gotoff's deposition.

21 Q. **Did** you read it?

22 A. **Yes.**

23 Q. Was there some reason that you wanted to read his  
24 deposition?

25 A. Yes.

1 Q. Why?

2 A. Two reasons. One is that he was a principal  
3 involved in the child's care. But at the same time, he was an  
4 infectious disease specialist, But the main reason was that  
5 Dr. Livingston had used some portions of the Gotoff deposition  
6 in his deposition, and I wanted to see the full deposition.

7 Q. And you read all three portions?

8 A. I read the entire thing, yes.

9 Q. Interesting reading; right?

10 A. well, depositions are, I think, a form of literatur  
11 of their own, sir

12 Q. Have you read other depositions in this case?

13 A. Yes.

14 Q. Could you be kind enough to stand -- or we'll pass  
15 it to you -- to tell me the depositions you have read, please?

16 A. Why don't I just go to the box?

17 Q. I think that's easier, Before you do that, one  
18 thing, did you make any markings in them, dog tag, fold over  
19 pages, that kind of stuff?

20 A. No.

21 Q. So all that is in the materials you have here are  
22 just the deps themselves?

23 A. That's correct.

24 Q. And you made no computer readout or sheets of that  
25 type at all?

1 A. No.

2 Q. So all you did is just read them, and no notes,  
3 nothing; right?

4 A. That's right.

5 Q. Okay.

6 A. Here's Dr. Gotoff's deposition.

7 Q. Is that the whole deposition?

8 A. Yes, it is.

9 MS. McDONALD: It's the reduced form.

10 MR. GOLDBERG: Let me see that.

11 Q. So this is the Min-U-Script.

12 (A discussion was held off the record.)

13 A. There's a deposition of Robert Livingston, MD. The  
14 deposition of Leon Charash, MD. The deposition of Charito  
15 Dellatorre, MD, two volumes. There's the deposition -- I'll  
16 spell the first name -- M-E-H-R-U-N-N-I-S-A, Zarif, Z-A-R-I-F,  
17 MD. And there are five pieces to it. There's the deposition  
18 of Diane Turner. There are two pieces to it. That's it.

19 Q. Did you ask for those depositions?

20 A. Only the one from Dr. Gotoff.

21 Q. Do you know why these that you mentioned were sent  
22 to you specifically?

23 A. No.

24 Q. Doctor, some people read meticulously, detail. Some  
25 people scan. Some people just read what they think is

1 important. Insofar as these depositions, what did you do?

2 A. I read each page. I read about 70 pages an hour,  
3 deposition.

4 Q. Were you reading it with any specific point in mind,  
5 or points in mind that you were looking for?

6 A. No.

7 Q. Do you feel that you needed these depositions in any  
8 way to reach the opinions that you originally gave?

9 A. Well, I'm not quite sure how to answer that. The  
10 opinion that I originally gave, I originally gave. And then  
11 the depositions I reviewed, most I reviewed subsequent to my  
12 first conversation with Ms. McDonald. The nature of my  
13 opinions did not change based on the depositions. I suppose  
14 they could have, but they didn't.

15 Q. So the opinions you gave Ms. McDonald originally are  
16 basically the same and have not been altered or modified as a  
17 result of anything you read in any of these depositions;  
18 correct?

19 A. They were not altered.

20 Q. Did you learn anything new, different or additional  
21 by way of factual matter that **had** any bearing on your opinions  
22 insofar as supporting, corroborating, things of that type?

23 A. Well, again, recalling, I was asked to look at the  
24 link, if any, between the timing of antibiotics and outcome.  
25 With regard to that, the depositions did not supply new factual

1 information that modified my opinion in any way.

2 Q. Doctor, please understand that as a lawyer, all we  
3 have to work with are words, so I'm not trying to be picayune  
4 with you. I'm trying to be specific. Fair enough? You  
5 understand what I'm saying? So let me ask this of you. You  
6 were asked to look at the link, if any, between the timing of  
7 the antibiotics and outcome; is that correct?

8 A. That's correct.

9 Q. To save somewhat of a period of time, is that what  
10 your role, as you see it, is in this case?

11 A. My role, as I see it, is to ask questions posed to  
12 me. I'm just reiterating to you what the focus was as given to  
13 me by Ms. McDonald.

14 Q. But I'm asking, is that what your focus was as you  
15 went through all the chart and the materials?

16 A. I read all the chart and the materials. I certainly  
17 paid attention to that, since that was the issue of my charge.  
18 My mind doesn't turn off automatically, so, you know, I read  
19 everything.

20 Q. I'm trying to just see if I can narrow something.  
21 I'm trying to find out, in listening to what you said, as to  
22 whether you are going to be offering opinions, if asked, at the  
23 trial on standards of care as to any of the parties, or have  
24 you confined your review and are your opinions limited to  
25 causation?

1 A. I will answer all questions posed to me.

2 Q. Does that mean that you feel you are prepared to  
3 answer questions on standards of care?

4 MS. McDONALD: Let me say, we're not intending to  
5 elicit those.

6 MR. GOLDBERG: Well, so we're clear, you are not  
7 intending -- you stipulate you do not intend or expect to ask  
8 opinions of him on standard of care?

9 MS. McDONALD: That's correct.

10 MR. GOLDBERG: Okay.

11 Q. (By Mr. Goldberg) With regard, Doctor, to your  
12 opinions, I take it the issue of antibiotic therapy and outcome  
13 in the issue of the charge, as you called it, that you were  
14 asked to do, is within the area of your expertise?

15 A. Yes.

16 Q. Is it an area peculiar just to infectious disease,  
17 Doctor?

18 A. I don't understand the question.

19 Q. Are there other areas of medical discipline that  
20 deal with this issue, as well?

21 A. I **would** say that the timing of antibiotics in the  
22 context of illness does reside within the subject matter of  
23 infectious disease. I would probably say a rheumatologist  
24 would not be asked about this area. Antibiotics and their  
25 influence on infections seems to be within the subject matter

1 of infectious disease more than any other subspecialty.

2 Q. But is there and are there not other areas of  
3 specialty, such as pediatrics, emergency medicine, emergency  
4 pediatrics, orthopedics, infectious disease, all of which are  
5 called upon to diagnose, manage, and treat infectious diseases  
6 of one type or another?

7 A. Well, to borrow a phrase of your own, sir, medicine  
8 is a seamless web.

9 Q. Would the answer then be yes?

10 A. I think as stated, the answer is yes.

11 Q. Okay. I have to, so you understand, get an answer  
12 so I can go on. A seamless web, I understand it. But someone  
13 if they heard it, might not, so I just want to be sure we're  
14 communicating.

15 Now, Doctor, with reference to the expert witnesses  
16 in this case, do you know of them, or know them personally on  
17 both sides?

18 A. Of the two expert witnesses, I only know of three  
19 expert witnesses involved in this case, two of whose  
20 depositions I read.

21 Q. You only know of three?

22 A. Correct.

23 Q. Two you read?

24 A. Yes.

25 Q. Who are the two you're referring to? Livingston?

1           A.     Livingston, Charash, and there's another one that  
2     Ms. McDonald told me about who is a neurologist whose  
3     deposition I did not read.

4           Q.     Tomasi?

5           A.     Correct.

6           Q.     So Ms. McDonald told you about a Dr. Tomasi, but *you*  
7     didn't read his deposition; right?

8           A.     Correct.

9           Q.     You read Charash and you read Livingston; right?

10          A.     Yes.

11          Q.     Do you know of Dr. Tomasi?

12          A.     I have heard the name, yes.

13          Q.     Do you know anything about his training or  
14     background?

15          A.     No.

16          Q.     Meningitis -- is that something which, from your  
17     experience and exposure, pediatric neurologists have the  
18     occasion to become involved in the management, care, and  
19     treatment thereof, on the acute as well as long-term follow-up  
20     care basis?

21          A.     Occasionally.

22          Q.     Pediatricians?

23

24

25

1 A. No.

2 Q. Do you know of a Dr. Schulman, for example?

3 A. I know his name.

4 Q. That's all?

5 A. That's correct.

6 Q. Do you know of Dr. Gotoff?

7 A. Yes.

8 Q. How do you know of Dr. Gotoff other than having  
9 read his deposition?

10 A. Again, I know his name, and I briefly met him once  
11 many years ago.

12 Q. Have you read any of his works that you recall?

13 A. Yes, I have read a number of papers in which he was  
14 a coauthor, all having to do with group B streptococcal disease  
15 of the newborn.

16 Q. Have you read any papers by Dr. Schulman?

17 A. Yes.

18 Q. Which?

19 A. Primarily papers having to do with Kawasaki disease  
20 and papers having to do with infections of the heart.

21 Q. Any papers by Dr. Livingston?

22 A. No. When I say no, I don't have a memory of it.

23 Q. Not that you recall. That's a fair understanding.  
24 Nothing that you remember; correct?

25 A. Correct.

1 Q. You're board certified?

2 A. In three things, yes.

3 Q. What are you board certified in, Doctor?

4 A. pediatrics, pediatric infectious diseases, and  
5 pediatric critical care.

6 Q. Well. When were you boarded, Doctor, in peds?

7 A. 1983.

8 Q. Infectious disease?

9 A. 1996.

10 Q. Critical care?

11 A. Originally 1987, recertified in 1995. Correction,  
12 the infectious diseases is 1995. Sorry. Not 1996.

13 Q. Doctor, the fact that you weren't certified in  
14 infectious disease before 1995 -- did you consider yourself to  
15 have expertise, nevertheless, in infectious disease?

16 A. You understand there was no board certification  
17 available before then, and the answer is yes.

18 Q. That's my point. Just because a discipline gets a  
19 board certification doesn't mean that you had to be certified  
20 or have to be certified to have expertise and knowledge in it;  
21 right?

22 A. Expertise and knowledge resides with the  
23 individual. Board certification is for another purpose.

24 Q. Critical care would be both with newborns, I take  
25 it, as well as other than newborns? Or is that left to the

1 neonatologists?

2 A. Critical care has to do with the pediatric intensive  
3 care unit and pediatric emergency rooms. Issues regarding  
4 newborns are included there, but it is different than  
5 neonatology.

6 Q. Right. So after the first 30 days of life primaril  
7 is what you're referring to, and then thereafter?

8 A. Not necessarily. As I said, it does have to do wit  
9 newborns, but the day-to-day management of premature newborns  
10 and that sort of thing is usually left to a neonatologist,

11 Q. Being boarded in critical care, do you know most of  
12 the people that are boarded, or many of them, in that  
13 specialty?

14 A. I know some of them, but I don't know them all.

15 Q. Do you know Roger Barkin?

16 A. Yes.

17 Q. How do you know Roger?

18 A. Roger was a colleague of mine in Denver.

19 Q. You worked together with one another for some perio  
20 of time, did you not?

21 A. Yes.

22 Q. Was he one of your -- how do I say this -- did he  
23 teach you, or were you on an equal plane at the time?

24 A. Originally, he was on the faculty when I was going  
25 through my training, and then we were colleagues.

1 Q. What do you know of him by way of reputation?

2 A. He was originally trained as a public health  
3 specialist, then became a pediatrician. He has an interest in  
4 infectious diseases, although I do not believe he is board  
5 certified in infectious disease.

6 He then made a career change and devoted his time  
7 towards pediatric emergency medicine, where he's been a very  
8 prolific contributor in textbook articles, and he currently is  
9 primarily in pediatric administration in a large multihospital  
10 group which supplies services to the Denver area.

11 Q. When did you last have any dealings with Roger  
12 Barkin?

13 A. I talked to Roger last time last year.

14 Q. Was there ever any mention or discussion of this  
15 case between the two of you?

16 A. No.

17 Q. With regard, Doctor, to Dr. Gotoff, when you read  
18 his deposition, did you note certain comments he made about his  
19 perception of his involvement and role in relation to the  
20 residents and interns?

21 a. Well, I have a memory of some of the remarks he  
22 made.

23 Q. This is not per se a memory contest, and by all  
24 means, when I ask a question, if you need to go to the dep, I  
2s will not only try to help you find it, I'll try to be

1 specific. But do you remember what he said was the way in  
2 which the Municipal Contagious Disease Hospital was run in  
3 terms of the supervision?

4 A. I have a general idea, yes.

5 Q. What's your general idea?

6 A. The general idea is that the attending physicians  
7 were there primarily to deal with the problem patients, to  
8 provide education to the residents. They were not hands-on  
9 physicians to each and every patient, and so they exerted  
10 overall supervision in a hospital in which the resident staff  
11 did the primary care.

12 Q. Have you ever been in a hospital where that was the  
13 situation?

14 A. Sure

15 Q. Which?

16 A. San Francisco General Hospital, Denver General  
17 Hospital are examples of two of those.

18 Q. Is it your testimony that in Denver General  
19 Hospital, anytime you were ever there, that attendings didn't  
20 supervise the residents?

21 MS. McDONALD: Well, I'll object, because when  
22 you say "supervise," you know, I think you have to be a little  
23 more specific. He's talked about what his understanding of th  
24 relationship is.

25 A. I don't think that's anything that I said, sir.

1 Q. Well, when you said resident staff did primary  
2 care. Were you ever at Denver General where there was an  
3 attending supervising the residents?

4 A. We always had an attending supervising, but that's  
5 what they did, they supervised.

6 Q. Would you define, then, in the sentence you used a  
7 moment ago, resident staff did primary care. What does that  
8 mean?

9 A. Yes. What I meant by that was that the day-to-day  
10 examinations, management, collection of data, and primary  
11 contact with patients and family were done by the resident  
12 staff. Patients would then be presented to the supervising  
13 staff. They would in a more selective way do examinations or  
14 interact with the families, but the supervising or attending  
15 staff do not do direct, daily, hands-on care on all patients.

16 Q. "Presented to the attending in a more selective  
17 way," that phrase means that those that were other than in a  
18 range of healthy or normal would be brought to the attention  
19 of, some way, the attending?

20 A. No, The way it usually works is that all children  
21 are brought to their attention, but with different degrees of  
22 participation on the part of the supervisor, and what I meant,  
23 selective was not that the patients were selective, so much as  
24 the content, issues, and degree of discussion was selective,  
25 depending on the problems associated with each individual

1 patient.

2 Q. In the context of the statement, "The resident staf  
3 did primary care," was it in any instance that you have ever  
4 been involved with, without supervision of an attending?

5 MS. McDONALD: Well, I'm going to ask you to  
6 define what you mean by that.

7 MR. GOLDBERG: He understands the question.

8 MS. McDONALD: Well, I don't know. I think you  
9 both might have different understandings. You mean the  
10 attending never ever talked with the resident about the case?

11 Q. Would you answer the question, Doctor?

12 A. As I define "supervision," supervision has always  
13 been present.

14 Q. Doctor, is it your understanding that at Denver  
15 General Hospital, there was ever a time where there wasn't som  
16 attending that, in fact, would supervise and be available to  
17 supervise patient care done by the residents or interns?

18 A. Well, as I said, as I define supervision, there was  
19 always an attending who was supervising residents.

20 Q. **Now**, there is what is known as a medical chain of  
21 command, is there not, Doctor?

22 A. Perhaps you can flesh that out a bit more for me.  
23 It's not a phrase that I commonly use.

24 Q. Is there a nursing chain of command?

25 A. Well, again, I don't know what you mean by chain of

1 command. There is a military chain of command, but as it  
2 applies to medicine, I'm not quite sure what you mean.

3 Q. Well, perhaps I can explain further. Have you ever  
4 been the chairman of any department?

5 A. Yes.

6 Q. Did you have a job description and responsibility as  
7 a chairman?

8 A. In a loose way, yes.

9 Q. Did you delegate that responsibility to others  
10 beneath and below you on the chain of command?

11 A. Well, I'm currently a chairman.

12 Q. I know.

13 A. And the people I work with are my colleagues. We  
14 don't have a particular chain of command in a military sense.  
15 We share in the administrative chores, although I perhaps get  
16 the lion's share of those chores.

17 Q. Are you the director or the chairman of the  
18 department?

19 A. Yes.

20 Q. Is there a co-director or chairman?

21 A. No.

22 Q. Is there an assistant director or chairman?

23 A. No..

24 Q. Underneath you, Doctor -- do you teach in that  
25 capacity as chairman of the department?

1 A. I do not teach my colleagues. We teach each other.

2 Q. Do you teach residents as part of the program?

3 A. Yes.

4 Q. Is there a pecking order or chain of command among  
5 the residents?

6 A. There may be at the university, but not at the  
7 institution that I'm at,

8 Q At the university, is there a pecking order?

9 A Well, usually there is a -- not to use the barnyard  
IC phrase "pecking order," but there are different levels of  
11 seniority that seem to be associated with the interaction.

12 Q. If you're more comfortable with the use of the  
13 phrase "seniority," I have no problem with using semantic  
14 differences. But in the formative years of your residency,  
15 Doctor, was there a seniority among the residents?

16 A. Yes.

17 Q. Would you tell me what it was?

18 A. Seniority was based on the level of training that  
19 you were at, and it went from the medical student at the  
20 lowest, to the intern, to the second, third, or beyond residen  
21 to chief resident, then finally to attending, would be the  
22 ascending order of seniority.

23 Q. Were there fellows anywhere along the line in this?

24 A. In some institutions, yes.

25 Q. So is that the seniority that generally is ascribed

1 to a teaching center or setting?

2 A. Yes.

3 Q. I use "chain of command" or "pecking order" in the  
4 context in which you used "seniority." I'll be glad to use  
5 "seniority" if it offends you to use the other phrases.  
6 Okay?

7 A. That's fine.

8 Q. All right. The role and function of a medical  
9 student and intern and resident and chief resident is to both  
10 have hands-on care involvement and to be taught; correct?

11 A. Those are amongst their roles, yes.

12 Q. Right. And the same thing would hold true of a  
13 fellow; correct?

14 A. Yes

15 Q. The role of the attending is to, in fact, have  
16 involvement with these people and personnel in a teaching  
17 capacity, in those centers where that occurs?

18 A. That's amongst the things they do, yes.

19 Q. Is communication, in your medical opinion, important  
20 in medicine?

21 A. I would say in medicine and in all human endeavor,  
22 yes.

23 Q. You smiled, Doctor, so you understand. I appreciate  
24 that, but I'm really being very serious. It is important, is  
25 it not?

1 A. Then perhaps you can refine the question for me.

2 Q. I'm saying, communication between those people in  
3 this seniority range we've just talked about -- there has to be  
4 communication between them, right, for the program to function  
5 properly in a teaching setting?

6 A. I will answer generally yes to a general question,  
7 but I'm not quite sure its applicability here.

8 Q. It is in either of two ways that you are aware of  
9 that there is a communication, either oral or written?

10 A. Yes.

11 Q. Oral being physician to physician, written being the  
12 chart and various portions of the chart to be available to be  
13 read by whoever chooses to; right?

14 A. Yes.

15 Q. Is there, in the same way that there's a seniority  
16 with regard to the medical student, intern, that line of  
17 seniority, the same kind of situation with reference to  
18 nursing?

19 A. I'm not as familiar with nursing organization. I  
20 would assume that there is some degree of seniority, but I  
21 don't think it's as richly layered as it is in medicine.

22 Q. Well, do **you** know what the phrase "patient's  
23 advocate" means, Doctor?

24 A. I have heard the phrase in many instances in many  
25 settings. I'm not quite sure which one you're referring to

1 Q. I'm referring to the one that's contained in the  
2 Joint Commission on Accreditation of Hospitals, which indicates  
3 and states, among other things, that the members of the medical  
4 team are supposed to act as the patient's advocate to see to it  
5 that proper and appropriate timely care is given. Have you  
6 ever heard of that?

7 A. I haven't heard that particular sentence, no.

8 Q. Well, I'll be glad to show it to you, but have you  
9 ever heard of the Joint Commission?

10 A. Yes.

11 Q. As a chairman, do you not have direct responsibility  
12 to see to it that the standards of that Joint Commission are  
13 met as it applies to your department?

14 A. Yes.

15 Q. You have to read it, to understand what those  
16 standards are, right? You have to read the standards to see  
17 whether or not your department will be in compliance with it;  
18 right?

19 A. Not necessarily.

20 Q. Not necessarily?

21 A. No.

22 Q. You mean you have learned it along the way that you  
23 could know what it is and what's expect of you without reading  
24 it?

25 A. Usually, you know what is expected of a good medical

1 center, and those are the aspects that have been emphasized b  
2 the Joint Commission.

3 Q. Is it your understanding and expectation with your  
4 residents that you expect them to act in the best interests o  
5 their patients?

6 A. Yes.

7 Q. And to bring to your attention in that reference a  
8 information, either orally or in writing or both, that would  
9 bear upon the need for intervention, care and treatment?

10 A. Could you --

11 Q. To bring to an attending physician whatever  
12 information is necessary for the care and proper care and  
13 treatment of that patient?

14 A. If that communication is one which is appropriate  
15 bring to the attending physician, yes.

16 Q. There is a type of information which should be  
17 brought to the attention of the attending, is there not?

18 A. In a general way, yes, to a general question.

19 Q. These are general questions, Doctor. Do you train  
20 and tell your residents that you have been involved with that  
21 there are certain types of patients and conditions that you  
22 want to be notified about?

23 A. I don't know if I have ever said it quite that way,  
24 sir.

25 Q. Mow would you say it?

1 A. Well, how would I say it?

2 Q. I happen to know how you have said it. But I want  
3 to hear what you recall as how you have said it and how you  
4 were trained to say it and to teach others. So let me hear  
5 what you think and recall.

6 A. In my interaction with residents, of which I have  
7 far less now than I have in the past, what I have told them is  
8 that I'm available for all of their queries and questions, tha  
9 I would like to be notified if there is a meaningful change in  
10 a patient's condition, and that the care of the patient is  
11 usually a collaborative effort amongst all the people related  
12 to the team, but don't wait for me to do the right thing. Do  
13 the right thing anyway. And it's my job, of course, to teach  
14 them what the right thing is.

15 Q. Is it your opinion that when you use "the right  
16 thing," would another proper phrase for that be the standard o  
17 care?

18 A. No, I don't think that's an exact substitute.

19 Q. Would you define "standard of care"?

20 A. Well, stanaard of care, of course, is a legal term.

21 Q. It's also a medical term. I teach in the medical  
22 school, **so** I'm familiar with what goes on, and it's now taught  
23 in all of the medical schools that I know of.

24 MS. McDONALD: I don't know that that makes it a  
25 medical term.

1 Q. It doesn't make it other than a term that is part of:  
2 the syntax of a resident or an attending in this day and age,  
3 1996. But what is your definition?

4 A. Again, it's not a medical term as I know it, at any  
5 rate, Chicago notwithstanding. The standard of care is that  
6 level of medical attention and care which is performed by a  
7 similar physician in a similar setting. I understand it from  
8 state to state it's either defined as an average physician; in  
9 New Mexico it's defined as the most minimally qualified  
10 physician, seems to change. My own personal concept of it is  
11 that the standard of care is that range of options available to  
12 a physician in managing a patient within which most good and  
13 reasonable and prudent physicians work. It's not usually one  
14 thing. It's usually a range.

15 Q. What a reasonably well-qualified physician would be  
16 expected to know and do and not do?

17 A. It's usually a range of choices available to  
18 reasonable physicians taking care of patients.

19 Q. Doctor, isn't it true that there are some instances  
20 where there is no choice?

21 A. Well, you'll have to tell me about that.

22 Q. When the patient stops breathing. There's only one  
23 thing to be done, isn't there?

24 A. Well, there's --

25 Q. There's different ways to approach it, but you give

I resuscitation, CPR; right?

2 A. The goal is a single goal, but the means of  
3 achieving the goal may differ from person to person.

4 Q. So is that what you're talking about, the options,  
5 the different ways to do something?

6 A. Not exactly. You gave me a very particular  
7 instance,

8 Q. I did that for a reason, because you were getting  
9 very vague with me, and I wanted to just get to the point that  
10 this concept you're using of there being many options --  
11 options and goals may be achieved differently, but the end  
12 result is you demand and exact, do you not, of your residents  
13 that they act in a reasonable fashion, and whatever option they  
14 select in this myriad of options that you think exist has to be  
15 within the appropriate standard of care of reasonableness.  
16 isn't that true?

17 A. As I defined it, yes.

18 Q. There may be, for example, in your area of  
19 discipline more than one type of treatment for a particular  
20 disease process; right?

21 A. Yes.

22 Q. And if there are two or three that are acceptable,  
23 any one or two of those three may be used as an option;  
24 correct?

25 A. Yes.

1 Q. But the physician who is going to be called upon to  
2 treat that patient, if there are only three acceptable options  
3 which reasonably qualified physicians use, they would be called  
4 upon to use one of those three, right?

5 A. Yes.

6 Q. Is that the context that you're using it?

7 A. Well, that's one aspect of it. I mean, for many  
8 conditions, the options are not treatment options. They're  
9 evaluation options or management options. For example, there  
10  
11 other physicians would wait and observe. Certain physicians  
12 might hospitalize. Others would treat at home. They may all  
13 be very acceptable. It's not limited just to treatment  
14 options, but also to evaluation and management options and  
15 follow-up options, as well

16 Q. So within the context of reasonable care that's what  
17 you're talking about? The ultimate goal is that the physician  
18 must, in whatever option he elects or she elects to follow, act  
19 reasonably; right?

20 A. Yes.

22 the time under the existing circumstances?

23 A. Yes.

24 Q. Okay. Let's just take a two-minute break.

25 (A recess was taken.)

1 Q. Doctor, page 11 of your CV, "The Clinical Evaluation  
2 of the Febrile Infant." Are there in that piece of literature  
3 sources of data that you use as references?

4 A. Yes

5 Q. Where was it published? Is that Primary Care?

6 A. Yes.

7 Q. Is that, in your opinion, a recognized referee  
8 journal?

9 A. It's not a referee journal. It was a requested  
10 article.

11 Q. What do you mean, requested article?

12 A. There's a series of issues in hardback form put out  
13 in the various disciplines by Saunders & Company of which this  
14 is the one for primary care. There are similar ones called  
15 Clinics of North America in particular specialties, and this  
16 particular issue was one on pediatric problems seen in primary  
17 care practice, and the editor requested an article from me  
18 regarding the evaluation of the febrile infant. It was  
19 reviewed by the editor, but it was not submitted blindly to  
20 experts for publication, as it would be in a peer review  
21 journal. It's similar to a book chapter in that sense.

22 Q. What was the audience this was intended to be for?

23 A. Primary care physicians, including pediatrics and  
24 family practice.

25 Q. Item number 13. "Duration of Treatment in Bacteria

1 Meningitis, **An** Historical Inquiry." Pediatric Infectious  
2 Disease Journal is a referee journal, is it not?

3 A. Yes.

4 Q. Are there resources in here that you cited in the  
5 article?

6 A. Yes.

7 Q. **An** historical inquiry. What does that, first of  
8 all, mean?

9 A. The question I sought to answer in that article was:  
10 how did the recommendations for duration of antibiotic therapy  
11 in meningitis come about and how they changed over time. The  
12 answer to that was achieved through a review both through  
13 written and oral sources of treatment and the changes in  
14 treatment over time since the introduction of antibiotics in  
15 1935.

16 Q. Written and oral sources?

17 A. Yes.

18 Q. Do you cite who the oral source or sources are in  
19 the article?

20 A. Yes.

21 Q. **Who**, to your memory? How many are we dealing with  
22 who **were** the oral sources?

23 A. Well, they're all included in the acknowledgments  
24 the end. I would say between five and ten individuals.

25 Q. Were they all physicians that were of senior years

I to you?

2 A. Yes

3 Q. Were any of them physicians that were practicing in  
4 the 1970s and late 1960s?

5 A. Yes.

5 Q. Professors or associate professors at the time?

7 A. I don't know.

8 Q. How did you select them?

9 A. I selected them based on the fact that they were  
10 fundamentally involved in the care, treatment, and description  
11 of bacterial meningitis at different phases of medical history  
12 in that particular illness. For example, Dr. Lewis Weinstein  
13 was the first person to use streptomycin in the late 1940s for  
14 the treatment of meningitis, fundamentally involved. Or Br.  
15 Margaret Smith, Tulane, was in charge of the Contagious Diseases  
16 Hospital in Baltimore in the early 1940s. So dealing with  
17 people who were, in a way, standard bearers for infectious  
18 diseases during historical epochs.

19 Q. Written sources. Are they referenced in the  
20 article?

21 A. Yes.

22 Q. What **was** the audience for this?

23 A. People interested in pediatric infectious disease o  
24 meningitis in general, or the history of medicine. To my  
25 knowledge, it's the only article which looks at how

1 recommendations for duration of an infectious disease came  
2 about in any infection.

3 Q. Does it specifically deal with the issue in this  
4 case, as you perceive it?

5 A. It brings to bear certain biological information  
6 which is applicable to the issues involved in this case.

7 Q. What is the biological information you're referring  
8 to, and what are the issues that you're referring to?

9 A. One of the fundamental issues is the timetable of  
10 injury in meningitis and the influence of antibiotics on that  
11 timetable. And I think the principle that is established in  
12 this particular article is the insensitivity of the natural  
13 history of meningitis in certain areas to the treatment with  
14 antibiotics.

15 Q. Is that referenced specifically in the article,  
16 Doctor?

17 A. Excuse me?

18 Q. Is that referenced in the article, the insensitivity  
19 portion of it?

20 A. I think if one reads the article, the message comes  
21 through. It was not an article about that issue. But it does  
22 contain information which bears on the issue.

23 Q. What's the biological information that you're  
24 referring to?

25 A. Well, the duration of therapy in theory is

1 predicated on the need for a certain minimal duration to  
2 achieve a cure, which is a biological issue. And when one  
3 looks at that issue historically, one finds that the ability to  
4 achieve a cure is actually poorly correlated with any of the  
5 recommendations regarding duration, which is another example of  
6 the fact that outcome and cure do not rest exclusively on the  
7 use of antibiotics. It is a biological process which involves  
8 an interaction between the host, the host reaction, and  
9 therapy, and that is amplified in later articles that I have  
10 written.

11 Q. Did you recall certain of those types of questions  
12 being asked by me of Dr. Gotoff at the deposition?

13 A. I don't remember those specifically, sir.

14 Q. Do you recall my asking whether he felt in his  
15 opinion there was any type of host problems dealing with  
16 meningitis?

17 A. I don't recall that specifically.

18 a. When you say "host," define what you mean by "host"

19 A. Host is the infected person.

20 Q. Host reaction?

21 A. Correct.

22 Q. Doctor, there are many articles, would you not  
23 agree, dealing with relationship between meningitis, time of  
24 intervention, and outcome?

25 A. I **do** not know of many articles that deal with that

1 issue as the focus of the article. But there are many articles  
2 in which information which reflects on that issue has been  
3 presented.

4 Q. There are, would you agree, a certain type of  
5 patient that the outcome, when studies have been done on a  
6 comparative basis, there are some patients that the antibiotic  
7 therapy and the timing of intervention has had inconsistent  
8 outcomes between patient populations?

9 A. I don't understand that.

10 Q. Some patients, Doctor, can go on to die or have  
11 serious problems, and others may go on to be well.

12 A. That's correct.

13 Q. With the same disease process.

14 A. That's correct.

15 Q. And one of the questions in the over 200 articles  
16 that I have read on the subject in my 29 years of doing  
17 malpractice -- one of the questions that everyone wonders is,  
18 why is it that in some patients you give with this disease the  
19 same medication and they are fine, and other patients, they  
20 die? **And** no one knows the answer. Do you know the answer?

21 A. I know the answer at a certain level.

22 Q. What level do you know the answer?

23 A. I know the answer.

24 Q. Because I'm going to make you a Nobel laureate if  
25 it's the right answer.

1           A.     Thank you. I can retire then. I know it at a very  
2 crude level. I don't know it at a Nobel laureate level.

3           Q.     What's the crude level that your explanation is for  
4 such a situation?

5           A.     The answer is that it depends primarily on three  
6 host factors, which are the vigor of the inflammatory reaction  
7 the architecture of the vessels supplying the brain, and the  
8 integrity of blood vessels when inflamed.

9           Q.     Prospectively, can you predict which patient will  
10 and will not respond to therapy?

11          A.     No.

12          Q.     Why not?

13          **a.**     Because it can't be done.

14          Q.     Why? Why can't it be done?

15          A.     Because there is no reliable harbinger of outcome  
16 early in the disease.

17          Q.     Is that a known medical fact or something peculiar  
18 to you?

19          A.     It's the truth.

20          Q.     The gospel, the truth; right?

21          A.     It's not gospel. Gospel is a religious concept. I  
22 is the truth, however.

23          Q.     You don't view medicine as a religion?

24          A.     Well, it seems to occupy about as much time as  
25 religions do.

1 Q. In any case, Doctor, if one can't prospectively,  
2 with reasonable certainty, predict outcome, is there a standard  
3 of care, then, when physicians are to act reasonably that he or  
4 she should bring to bear upon a given patient, knowing that  
5 fact?

6 A. Yes.

7 Q. What is that standard?

8 A. You treat all patients the same.

9 Q. Why?

10 A. Because you cannot predict those in whom the  
11 treatment will be futile and those in whom the treatment does  
12 offer a benefit.

13 Q. Is that the way you were taught?

14 A. No.

15 Q. It is not that you treat all patients --

16 A. I was taught that you treat all patients the same.  
17 But I was not taught that the reason for that was the reason I  
18 just stated.

19 Q. What were you taught?

20 A. I was taught that the earlier you treat something,  
21 the better off you are. But that's not true.

22 Q. Well, let's take what you have just said and let's  
23 put it under a microscope. Are you saying that there are no  
24 instances where that general principle of medicine has been  
25 proven to be true in meningitis?

SANTA FE OFFICE  
123 East Marcy, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949  
FAX (505) 820-6349

**BEAN**  
**ASSOCIATES**, Inc.  
PROFESSIONAL COURT  
REPORTING SERVICE

MAIN OFFICE  
500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494  
FAX (505) 843-9492  
**1-800-669-9492**

1 A. with meningitis?

2 Q. Yes

3 A. I know of no compelling evidence that shows that th  
4 earlier you treat -- put it this way. That the timing of  
5 antibiotics in the context of an illness in which meningitis  
6 has been diagnosed alters the outcome.

7 Q. That's what you mean; right? The outcome -- doesn't  
8 affect the outcome, right?

9 A. I mean exactly what I said.

10 Q. Right. And compelling evidence, Doctor -- tell me  
11 what compelling evidence is to you. What would it take?

12 A. It would take an ample number of cases in which the  
13 timing of antibiotics could be related to outcome to make the  
14 case compelling.

15 Q. Well, have you ever sought or been involved in any  
16 research protocol to have human beings be used as subjects for  
17 such a study?

18 A. No.

19 Q. That's unheard of in America, isn't it?

20 A. Correct.

21 Q. There's no way that, according to the law of  
22 medicine, law and medicine, such a research program could be  
23 put in effect; right?

24 A. Correct.

25 Q. Let's try the reverse. Is there a way you can prove

1 scientifically in the same question that you posit as a  
2 positive that early intervention doesn't make a difference?  
3 Scientifically prove it?

4 A. What do you mean by scientifically?

5 Q. Well, the same way, by compelling evidence, studies,  
6 using live patients.

7 A. Yes.

8 Q. Tell me how.

9 A. It can be done in two ways.

10 Q. Let me hear it.

11 A. One is an analysis of articles in which information  
12 is presented regarding duration of illness prior to the dosing  
13 of antibiotics in which patients then are followed up and  
14 outcome can be ascertained. And if one can collect enough of  
15 those cases and analyze it in a correct way, one can come out  
16 with an answer.

17 Q. If one could; right?

18 A. Yes.

19 Q. Can they?

20 A. Yes, it has been done.

21 Q. Where and when?

22 A. I published it in 1992.

23 Q. Which one of those articles is it?

24 A. Article number 14 on page 11.

25 Q. Now, this article, Doctor, that you have referred

1 to -- does it, in fact, have any statements in it that  
2 indicate, in your opinion, that this is a valid reliable  
3 statement, such that one should not treat early?

4 A. I'm sorry, I didn't understand that question.

5 Q. Is there any statement in any of these articles, in  
6 light of what you're saying, that suggests to the physician  
7 that when there's clinical evidence that a patient may have  
8 evidence of meningitis or a suspicion, high index of suspicion,  
9 that one need not treat early?

10 A. No, the article does not say that.

11 Q. Why not? Why doesn't it say that, if that's what  
12 you're positing?

13 A. I didn't posit that.

14 Q. What did you posit by the article, then?

15 A. The article showed that the timing of antibiotics in  
16 the context of an illness in which meningitis has been  
17 diagnosed does not alter outcome if the illness is one of  
18 general and nonspecific symptoms for less than three to five  
19 days or if the presenting syndrome is one of fulminant  
20 meningitis. That's what the articles show.

21 Q. **Was** this fulminating meningitis in this case?

22 A. No.

23 Q. Did it exist for less than three to five days?

24 MS. McDONALD: Prior to what?

25 MR. GOLDBERG: He understands the question.

1 A. Yes.

2 Q. It did exist for less than three to five days?

3 A. The general and nonspecific symptoms, yes.

4 Q. General and nonspecific symptoms in this patient, in  
5 your opinion, existed for less than three to five days?

6 A. The patient had general and nonspecific symptoms of  
7 illness prior to the diagnosis and treatment of meningitis  
8 being done in this case. Yes.

9 Q. Well, Doctor, are you saying that there weren't  
10 symptoms that existed that could be considered meningitis,  
11 nevertheless, even though it wasn't diagnosed and treated three  
12 to five days prior?

13 A. The child had no evidence of clinically overt  
14 meningitis prior to the 7th of January 1971.

15 Q. Clinically overt meningitis?

16 A. Correct.

17 Q. Versus meningitis.

18 A. I don't know how you're using the term  
19 "meningitis."

20 Q. I don't know how you're using "clinically overt."

21 A. I'm using it as findings which suggest that  
22 meningitis may be present.

23 Q. Reasonably honest, well-qualified physicians can  
24 differ with you on that opinion, I take it.

25 A. I don't know.

1 Q. You don't know?

2 A. I don't know.

3 Q. Did Dr. Livingston disagree with you on that point  
4 as to how long the symptoms had existed before treatment?

5 A. I don't believe that he suggested that the child had  
6 clinically overt meningitis prior to the 7th.

7 Q. Did he indicate that symptoms that might or could be  
8 associated with meningitis existed before that time?

9 A. He certainly suggested that there were symptoms.

10 Q. Yes. A rose may be a rose, depending upon who sees  
11 it, Doctor, but is there and are there a category of symptoms  
12 associated with meningitis?

13 A. Yes.

14 Q. Recognized and accepted by pediatricians and  
15 infectious disease people?

16 A. In general, yes.

17 Q. Such that they can be overt?

18 A. Such that one would, in seeing them, suggest that  
19 meningitis may, in fact, be the cause of them, yes.

20 Q. Well, are those symptoms specific as well as  
21 nonspecific for meningitis?

22 A. They are symptoms which raise a possibility of  
23 meningitis to the point of action, and it's those kinds of  
24 things that I have called clinically overt.

25 Q. To the point of action.

1 A. Yes. In other words, you do something about it.

2 Q. So there is a point at which there may be signs and  
3 symptoms of meningitis but not put you over the edge where you  
4 have to do something about it?

5 A. No.

6 Q. No what?

7 A. No, their signs and symptoms associated with  
8 clinically overt meningitis, it does lead to action.

9 Q. So once signs and symptoms of overt meningitis exist  
10 in the criteria the reasonably well-qualified physicians  
11 believe are acceptable, treatment is necessary?

12 A. Diagnosis is necessary.

13 Q. Diagnosis is necessary, One then doesn't treat  
14 before the diagnosis is made; is that what you're saying?

15 A. Usually not.

16 Q. Usually not? Aren't there exceptions to that rule?

17 A. Yes, there are exceptions.

18 Q. What are the exceptions?

19 A. If the definitive diagnostic test, which is usually  
20 a lumbar puncture, is contraindicated, one usually establishes  
21 treatment before performing it.

22 Q. Well, contraindicated, Doctor -- are you talking  
23 about pressure in the spinal fluid area? Are you talking about  
24 something specific as contraindications?

25 A. Well, I can give you some instances which could be

1       contraindications.

2           Q.       What would they be?

3           A.       Contraindication would be if the child is  
4       manifesting signs of increased intracranial pressure.

5           Q.       Which has never been proven to be a causative agent  
6       of any harm from that, by the way, or have you an article to  
7       prove that?

8           A.       I didn't understand the question.

9           Q.       Have you ever read an article that says increased  
10       intracranial pressure or pressure in the area that we're  
11       talking about caused harm by doing a tap?

12          A.       There's never been a study in which individuals have  
13       been randomized to receive or not receive spinal taps, and  
14       therefore, by that kind of randomized study, there has not been  
15       proof of the proposition.

16          Q.       I understand. What else would be a  
17       contraindication?

18          A.       Someone whose general condition would deteriorate if  
19       the test were performed. For example, someone who's having  
20       severe respiratory difficulty.

21          Q.       Anything else?

22          A.       An example would be someone who was not capable of  
23       performing the test and if there would be an inordinate delay  
24       in getting them to a person who can perform the spinal tap, on  
25       begins therapy at that stage.

1 Q. Or you don't have the equipment, things like that?

2 A. Something like that.

3 Q. Anything that would prevent the doing of the test by

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

SANTA FE OFFICE  
123 East Marcy, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949  
FAX (505) 820-6349

**BEAN**  
**ASSOCIATES, Inc.**  
PROFESSIONAL COURT  
REPORTING SERVICE

MAIN OFFICE  
500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494  
FAX (505) 843-9492  
**1-800-669-9492**

1 depressed level of consciousness includes those things such as  
2 they apply to meningitis. Words like "irritability,"  
3 "behavioral changes," I think are not very good ones to use,  
4 since they have no common meaning and include too many things  
5 that are unrelated to anything that requires antibiotics.

6 Q. Well, Doctor, using as your guideline --  
7 irritability and behavioral changes is too vague for it to in  
8 fact mean, as I understood you just to say, something that  
9 would be in the level of consciousness, things of that type  
10 Wouldn't you agree that there are standard recognized articles  
11 texts and literature and that the pediatric literature and the  
12 pediatric neurological literature and that the pediatric  
13 infectious disease literature uses those very words to describ  
14 signs and symptoms to give rise to the index of suspicion of  
15 meningitis? Irritability, behavioral changes in an infant wit  
16 a fever, an unexplained fever, for index of suspicion. Using  
17 those words, the reason I use those words, index of suspicion.

18 A. Certainly the term "irritability" has been used by  
19 others. I don't use it for reasons I have explained. I don't  
20 know that "altered behavior" has been used very often. But I'  
21 be happy to see *you* point it out to me.

22 Q. You would?

23 A. Sure.

24 Q. Feigin and Cherry, have you read the 1994 recent  
25 edition?

A. I have the recent edition.

Q. Have you read it regarding this case and meningitis

A. No, not regarding this case.

4 Q. Have you read Dr. Klein's literature on the subject

5 A. It's a vast literature, sir.

6 Q. Have you read any of the pediatric literature or  
7 surveys that are involved that were published in 1992, the  
8 white papers which you referred to?

9 A. Yes, I have read that.

10 Q. And is it your recollection it does not use the wor  
11 "irritability" or such to describe, along with fever of  
12 unknown origin, to be a symptom that may and is recognized or  
13 should be considered and associated with meningitis?

14 MS. McDONALD: Let me object. He said others us  
15 the word "irritability." Your last question had to do with  
16 changes in behavior.

17 Q. Do they use "irritability"?

18 A. I think if you review my answer, I said that others  
19 do use the word "irritability." I do not think that's a very  
20 useful phrase, since it has no common meaning.

21 Q. How about "fretful"?

22 A. A lot of words you use to mean in the person's mind  
23 who uses the word a meaningfully altered level of  
24 consciousness, and to the extent that that word, when used,  
25 means that, I would agree that that's what they mean when they

1 use the word.

2 Q. How about "lethargic"?

3 A. Same thing.

4 Q. Can you give me all of the words that you have read  
5 in recognized literature -- not just texts, but literature --  
6 in peer review journals that, when you're dealing with what you  
7 describe as the altered level of consciousness, use other  
8 words?

9 A. I don't think I can make you a list like that, sir.

10 Q. Give me a list of ones you're comfortable with that  
11 you do recall.

12 A. I don't use those other words for reasons that I  
13 have already said, and I don't think I can make you an  
14 inclusive list of words that other people use.

15 Q. What is the reason you don't use those?

16 A. They have no common meaning and they're used in a  
17 very incontinent way, if I can use that phrase. And therefore  
18 the words themselves have taken on a shock value when used  
19 which may not have any applicability to the patient in  
20 general. We commonly get phone calls from mothers saying, "My  
21 child is lethargic," or, "My child is irritable."

22 I have heard nurses and doctors say that they  
23 themselves are lethargic today at work. These kinds of words  
24 don't convey information because they have been used both  
25 indiscriminately on the one hand, but they have also acquired

1 upon coming to see a child, would see that -- give me the kinds  
2 of examples we're talking about, from the most obvious downward  
3 to the beginning stage of the subtle, upward.

4 A. I'll describe it as best I can.

5 Q. Okay. Do you understand what I'm looking for?

6 A. No.

7 Q. Well, when someone is moribund, that's an end  
8 result, almost, isn't it?

9 A. An end result? I'm not quite sure I understand.

10 Q. That would be at the most severe level of altered  
11 level of consciousness. That's next to death, isn't it?

12 A. I don't know about moribund, but certainly coma is.

13 Q. Fine, if you like to use the word. So moribund and  
14 coma are different to you?

15 A. Moribund is --

16 Q. I'm trying to use the most extreme.

17 A. Coma is the most commonly used and best accepted  
18 term for someone who is nonrousable.

19 Q. But coma, Doctor, within it has several levels  
20 itself, one, two and three, depending on who -- I mean, I have  
21 read the literature, Doctor. I have some understanding of  
22 this. So I'm trying to find some example of an end result  
23 stage which I understand to be right before death a patient may  
24 be considered moribund.

25 A. Let me give my answer to your original question,

1     sir, and maybe it will suffice. The kind of child one is  
2     booking for -- not actually wanting to see, but the kind of  
3     thing they're looking for -- is a child who, depending on their  
4     age -- all of these things are very age-specific -- is  
5     noninteractive with the environment, noninteractive with  
6     family, has lack of attention, lack of activity, and there's no  
7     other explanation for it.

8                     On the other hand, you might find a child who is  
9     unconsolable, crying at each manipulation, and whose entire  
10    level of interaction is one of crying for no apparent reason,  
11    who has a fever and cannot be brought back to normality.

12            Q.     Is another level?

13            A.     That's another --

14            Q.     Extreme?

15            A.     Yes, it's a child who has a meaningfully altered  
16    level of consciousness, It's not necessarily one that's  
17    depressed. It's lack of normal interactiveness and activity in  
18    a febrile child with no obvious explanation. And again, it's  
19    age-specific. The time of the day, the circumstances,  
20    associated illnesses all have to be figured in it, and it's  
21    something that's done all the time, every time a child is  
22    encountered by a physician.

23            Q.     With that modification, Doctor, I don't have to ask  
24    what I was going to ask you. You have answered it.

25                     With regard, Doctor, to that explanation that you

1 gave in the last answer, I take it as the disease process  
2 begins and continues and worsens, does each patient react the  
3 same way?

4 A. I don't think I understand that, sir.

5 Q. Well, is the pattern with every patient about these  
6 signs and symptoms the same that has meningitis? Do all of  
7 them have the same step-by-step progression?

8 A. No.

9 Q. When you were talking a moment ago, Doctor, about  
10 the correlation, if any, between intervention with antibiotics  
11 and outcome, and you described what you -- and I mean this  
12 respectfully -- used as a crude level of explanation for  
13 certain differences in certain kinds of patients, the vigor of  
14 the inflammatory reaction -- will that be the same in every  
15 patient?

16 A. No.

17 Q. So that there's a host relationship factor, isn't  
18 there?

19 A. Yes.

20 Q. How does one determine what, in any given patient,  
21 that: host reaction to the disease process will be,  
22 prospectively?

23 A. One does not.

24 Q. Can you tell retrospectively?

25 A. Yes.

1 Q. What was Mr. Turner's response to the disease  
2 process in that context?

3 A. I'm going to have to lump together the inflammatory  
4 reaction along with the other items that I listed there in his  
5 particular case.

6 Q. You can't keep them separate?

7 A. No, I can't.

8 Q. I'll accept what your answer is going to be, but the  
9 reason I'm trying to keep them separate is to use them as  
10 separate entities. Is there a point at which you just have to  
11 put it all together?

12 A. Yes.

13 Q. And why?

14 A. Because it's the only way the whole truth can be  
15 told.

16 Q. When you use "truth" in that answer, do you mean  
17 your opinion as to the explanation for it?

18 A. No. I'm sworn to tell the whole truth. And the  
19 only way I can tell the whole truth is to give you the picture  
20 of his illness based on all the factors. I can't isolate one  
21 out and speak to it with any degree of certainty, but if one  
22 puts together a couple of those elements, there is a degree of  
23 certainty to which I'm willing to swear.

24 Q. I'm going to give you that opportunity for the  
25 explanation in a moment. But the vigor of the inflammatory

1 reaction. is there some way that one can determine the vigor  
2 of an inflammatory reaction prospectively?

3 A. No.

4 Q. Is there some way on a temporal relationship with a  
5 patient that one can determine the vigor of that disease  
5 process?

7 A. I didn't understand the question.

8 Q. Well, point 1, time -- clock begins to run, day 1,  
9 clock minute 1. Can you follow the disease and determine its  
10 vigor?

11 A. No.

12 Q. Retrospectively, after the diagnosis, patient lives  
13 is there a way you can determine the growth and vigor of that  
14 disease with accuracy?

15 A. Again, you have to ally it with the other host  
16 factors as a single package.

17 Q. In your opinion, that's what you have to do to get  
18 the truth; right?

19 A. Correct.

20 Q. I'm not being whimsical with you when I say that,  
21 but I am using your words for a reason. With regard, Doctor -  
22 there are different schools of thought as to the rate of growth  
23 of a particular pathologic agent such as Hemophilus influenzae  
24 of the type B origin. Do you know how fast or rapid-growing  
25 this particular disease **was** in Mr. Turner? Can you plot it

1 with any accuracy?

2 A. Can I clarify your question?

3 Q. Yes.

4 A. Are you asking me if I know the speed of the growth  
5 of the numbers of bacteria in the spinal fluid of this  
6 patient?

7 Q. Yes.

8 A. I do not know that, and it cannot be plotted.

9 Q. Can you do it with regard to the risks?

10 A. No.

11 Q. Why? Why not as to the risks and the spinal  
12 fluids?

13 A. The growth of -- let me rephrase that. The census  
14 of the numbers of organisms seen in the spinal fluid cannot be  
15 known in a human being in a dynamic fashion unless one were to  
16 subject that human being to repeat spinal taps done on a  
17 regular basis.

18 Q. Which is a no-no.

19 A. Which is not done. That's the first. The second :  
20 that the growth in a human being of an organism of a bacteria  
21 like this does not mimic the growth of the organism on a  
22 culture plate in a laboratory.

23 Q. A Petri dish?

24 A. Correct. Because the human being is not a static,  
25 but is a dynamic biological medium with clearance mechanisms,

1 defense mechanisms, and the iike, whereas a Petri dish is riot,  
2 and therefore what is seen in a Petri dish has no relevance  
3 whatsoever to what goes on in the human body.

4 Q. Have you finished your answer?

5 A. Yes.

6 Q. Can you tell when, in this patient -- or strike  
7 that. Let me be more general for a moment. Can you tell in  
8 any patient when something in -- not something -- when  
9 Hemophilus influenzae type B is seeded in a given patient with  
10 accuracy?

11 A. It depends what you mean by the word "seeded."

12 Q. Okay. I'll accept that. Are there differences,  
13 medically accepted differences in expression and the phrase  
14 "seeded" that you have seen written in the literature?

15 A. Again, it's not a word that I use, and therefore,  
16 I'd have to know what you mean by it.

17 Q. Well, **is** it a word that Dr. Klein uses or Dr. Feigl  
18 and Cherry use in their works regularly, "seeded," to describe  
19 a process?

20 A. I honestly don't know, sir.

21 Q. You don't know? Are you stating to me that you have  
22 never heard the concept or use of the phrase in relation to  
23 meningitis when it was seeded?

24 A. That's not what I'm saying. I'm saying I don't use  
25 it.

1 Q. I see. Well, in the world of those people that do,  
2 do you know what it means?

3 A. I'd actually have to ask them exactly what they  
4 mean, because it's not a word which conveys, at least to my  
5 mind, the biological truth of a situation.

6 Q. Okay. We'll get into that, too. Biological truth  
7 of the situation. Doctor, meningitis, type B. And all my  
8 questions will be limited to that for the obvious reason that  
9 don't want to get off on the other types, pneumococcus,  
10 whatever. ■ don't want to deal with anything but this for the  
11 moment. Is there a time period when, from various sources of  
12 the human body, it can invade or enter the body, recognizing  
13 that there's some people that it's normally within them?

14 A. I don't understand what you mean by time period in  
15 that question,

16 Q. You don't? Well, is there a time that disease,  
17 meningitis, begins? Let's start with that. Is there a  
18 beginning for meningitis? Let's try it that way.

19 A. I don't believe there's a commonly accepted  
20 definition as to when meningitis begins.

21 Q. That being the case, nevertheless, is there an  
22 accepted definition of when it begins? Do you accept the  
23 proposition that there is some period of time when it does  
24 begin?

25 A. Well, given the various meanings attributed to the

1 phrase "begins" that are used, one can theoretically consider a  
2 point in time in which that particular criterion has been met  
3 theoretically. In actuality, usually not. But theoretically,  
4 yes.

5 Q. Well, let me try it this way. And I think that  
6 you're being reasonable with me, and I'm trying to be artful  
7 and reasonable with you.

8 In medicine, in the treatment of meningitis, do you  
9 wait for actuality before you begin treatment? Proof of  
10 actuality?

11 A. Well, in meningitis, as I have said, you usually do  
12 the definitive test before initiating therapy for meningitis.  
13 But there are some instances in which you do not.

14 Q. So, Doctor, getting back, then, to theoretically or  
15 can consider there very various criteria when it actually is  
16 met, what are the criteria that you would use, if at all, as to  
17 when that disease process begins?

18 A. For me, the most useful way to define "beginning"  
19 when one talks about meningitis beginning -- which to me is  
20 just a theoretical construct because it has no clinical  
21 applicability --

22 Q. It does not?

23 A. No, it does not. -- is meningitis begins when there  
24 is the combined presence of bacteria and an inflammatory  
25 response in the spinal fluid.

1 Q. Can this type of meningitis, H. Influenzae, Doctor,  
2 type B -- can it seed other than in the meningeal fluid?

3 A. I don't understand that, sir.

4 Q. Can it be other than in the fluid of the spinal  
5 canal?

6 A. I still don't understand. Such as where?

7 Q. Can it enter a joint?

8 A. Oh. The bacteria themselves go everywhere the bloo  
9 goes, because of the fact that the bacteria are in the blood.  
10 It can certainly enter a joint space, yes.

11 Q. Can it enter at different times a joint space and  
12 the spinai fluid?

13 A. Based on animal experiences and experiments, it  
14 seems to be true that when the bacteria appear in the blood,  
15 the bacteria appear both in spinal fluid and probably in all  
16 joint fluid, as well, soon thereafter.

17 Q. How long have you known that?

18 A. How long have I personally known that?

19 Q. Yes, sir.

20 A, I would say since the latter part of the 1980s.

21 Q. How long, to your knowledge, has that statement tha  
22 we've just **had** you define how long you have known it been know  
23 in the medical field?

24 A. I don't know.

25 Q. "Beginning" having no theoretical -- let me restate

1 that. The beginning of this disease process theoretically, you  
2 say, can have a beginning, but the construct does not have any  
3 clinical applicability, that statement. Are you with me?

4 A. Yes.

5 Q. So that I'm clear, Doctor -- and understand that I  
6 have deposed lots of witnesses, and every witness is different  
7 and unique in their own personal syntax and theories, so I'm  
8 trying to work with yours now.

9 In the context of the beginning of the disease, in  
10 the context you just used it, does every patient from the  
11 beginning have a time clock that's the same as to when the  
12 clinical signs will appear?

13 A. No.

14 Q. Is it variable?

15 A. Yes.

16 Q. Are the signs and symptoms from the beginning  
17 something which all have to appear for the disease to be  
18 present clinically?

19 A. Could you say that again?

20 Q. Sure. For it to be diagnosed clinically -- because  
21 obviously, you can't diagnose it subclinically; right?

22 A. Correct.

23 Q. -- can a patient have the disease and yet not have  
24 all the signs and symptoms?

25 A. I would say probably yes, although there's really

1 very little data on which to base that statement.

2 Q. Thank you. Staying with that, Doctor, what I'm  
3 trying to do -- and I understand, I think, pretty well what you  
4 said about it has no clinical applicability -- do signs and  
5 symptoms in the same way that each patient and their host  
6 makeup will and may interreact and react differently with this  
7 disease process, is it also true about the signs and symptoms,  
8 evolution with each patient, in relation to the disease as  
9 well?

10 A. Each patient is unique, yes.

11 Q. And one doesn't know prospectively what the end  
12 result, outcome may be with a patient in whom that disease  
13 process is suspected; right?

14 A. Correct.

15 Q. Is there a time clock in meningitis of the H. Flu  
16 type B that we're talking about, from the beginning to when you  
17 believe at the earliest or if latest, giving -- looking for  
18 those parameters, signs and symptoms as a range of population  
19 epidemiologically speaking will appear?

20 A. I don't know the answer to that.

21 Q. Why not?

22 A. It can't be known, because there has been no --  
23 there's no possibility that experiments to answer that question  
24 can be performed on human beings.

25 Q. Well, Doctor, if that's true, then prospectively or

1 as a physician has to take into consideration the risk of the  
2 disease and whether and when to treat the patient; right?

3 A. I don't understand that at all.

4 Q. You don't? Well, if you know that there's no way to  
5 tell when it begins and when it ends in a patient, the actual  
6 disease clock, let me try it this way. I think I can do it  
7 this way.

8 If I were to draw two lines, Doctor, for you -- and  
9 I have done this in other cases, so I'll try it with you and  
10 see if it will be helpful. If there's a temporal clock in a  
11 given patient, this is subclinical, as to the disease growth,  
12 all right? And this clock is the signs and symptoms  
13 clinically. You understand what I'm saying? You understand  
14 that?

15 A. So far.

16 Q. I'm trying to keep it very simple, Doctor.  
17 Something that is very complex, I'm trying to keep it very  
18 simple. To you it may not be complex, but there are people  
19 that find this very complex.

20 If the number of bugs -- is there a number of bugs  
21 that it must have in the human body, whether it's seeded in the  
22 joints or whether it's seeded in the spinal fluid, before the  
23 disease is actually in existence?

24 MS. McDONALD: The disease of meningitis?

25 MR. GOLDBERG: Meningitis.

1 Q. Do you need a certain number of bugs before you say  
2 the disease is present?

3 A. Where are you asking for this census to be  
4 performed? Certain number of organisms in the blood or in the  
5 spinal fluid?

6 Q. Let's take all the places that you want to use.  
7 I'll be glad to go through all the bodily fluids. All the  
8 cultures. All of them. I'll be glad to take -- I don't care  
9 what you want to use. Let's use them all. I'm trying to find  
10 out and get to a point, Doctor, beyond where you're at. But I  
11 can't do it unless we get on ground level here. I'm trying to  
12 find out, what is the criterion you use subclinically to say  
13 the disease is present.

14 A. I think I have said there's no way to know that in  
15 human beings.

16 Q. I understand there's no way clinically to know  
17 that. But theory, theoretically there is some -- or are you  
18 saying if you have one bug or 100 such bugs, it's the same?  
19 You're pregnant or you're not pregnant?

20 A. No. What I'm saying is that theoretically,  
21 meningitis begins when you have the combined presence of both  
22 bacteria and an inflammatory reaction.

23 Q. Now, this inflammatory reaction, Doctor -- those are  
24 the two things you need, right? Bacteria and the inflammatory  
25 reaction; right?

1           A.       That's what I would define as the theoretical  
2 beginning of meningitis.

3           Q.       Now, does it make a difference which comes first?  
4 It can be both ways, can't it?

5           A.       I don't know of an instance in which there would be  
6 an inflammatory reaction without bacteria present first.

7           Q.       Well, Doctor, can't you have inflammatory reaction  
8 in the human body unrelated to the disease organism of H.  
9 Influenzae meningitis, have an inflammatory process, and then  
P0 have that particular organism enter the body in a compromised  
11 state and have both the inflammatory process and bacteria  
12 present sufficient to have the beginning of meningitis?

13          A.       With all due respect, I'm confused, because I was  
14 talking about the inflammatory reaction in the spinal fluid,  
15 and you have now generalized the inflammatory reaction to any  
16 part of the body.

17          Q.       You're using it in the context, then, of  
18 inflammatory reaction? You're using it in limiting it to the  
19 spinal fluid?

29          A.       That's correct.

21                   MS. McDONALD: That would be true of bacteria  
22 also?

23          A.       That's correct. The bacteria, the combined presenc  
24 in the spinal fluid of bacteria and an inflammatory reaction,  
25 theoretically are the beginning of meningitis as I define it.

1 (A discussion was held off the record.)

2 Q. Well, let's try it this way, in keeping with where  
3 we're at. Is your definition of meningitis of the H.  
4 Influenzae type B something that could only occur in the  
5 definition as you're using it when the meninges are involved?  
6 Is that what you're saying? That's the classical definition  
7 you're using; right?

8 A. No. What I'm saying is that for me, the beginning  
9 of meningitis as a theoretical issue is when in the spinal  
10 fluid you have the combined presence of both bacteria and an  
11 inflammatory response.

12 Q. Can that process be present, inflammation,  
13 inflammatory reaction and bacteria, in a joint and call it  
14 meningitis?

15 A. NO.

16 Q. Why not?

17 A. Because meningitis as a disease entity is infectious  
18 inflammation of the spinal fluid and the covering of the brain

19 Q. The meninges?

20 A " Yes.

21 Q. That's the classical definition, then, of  
22 meningitis?

23 A. Yes. It should be extended, because it is the major  
24 mechanism of injury to include blood vessels which traverse the  
25 spinal fluid space, as well.

I           Q.       What do you call it when that same bacteria and  
2       inflammatory reaction enter the body either at the same time or  
3       surely one after the other and it enters a joint space? Is  
4       that bacteremia only?

5           A.       Well, if the bacteria are in the blood, that is  
6       bacteremia. If you have bacteria in a joint space without an  
7       inflammatory reaction, I don't know what to call it. If you  
8       have an inflammatory reaction in the joint space along with  
9       bacteria, it has been called variously septic arthritis,  
10      bacterial arthritis, or pyogenic arthritis.

11          Q.       Signs and symptoms, Doctor, clinically of  
12      meningitis -- do they begin before bacteria in the fluid and  
13      inflammatory reaction in the spinal fluid exist?

14          A.       Yes, in the following sense: That the findings  
15      which I call clinically overt meningitis are not exclusive to  
16      meningitis. They may be seen in other disease processes, and  
17      therefore, you can have those findings without having  
18      meningitis. But the findings are compelling enough for the  
19      risk of meningitis that it would lead to action.

20          Q.       Is it your opinion that bacteremia exists before  
21      meningitis, by your definition?

22          A.       In the common form of meningitis that we're talking  
23      about, yes.

24          Q.       Did this patient have the common form of meningitis?

25          A.       Yes.

1 Q. So that if we talk about the common form of  
2 meningitis, we'd be talking about what happened to the child  
3 Turner?

4 A. Yes.

5 Q. Are there signs and symptoms -- let me restate it  
6 this way. Is there a point in time, Doctor, where signs and  
7 symptoms that -- well, try it this way. Are there signs and  
8 symptoms that may be associated classically by way of range of  
9 signs and symptoms with a true bacteremia?

10 A. I would say the only finding that is universally  
11 associated with bacteremia is an alteration in thermal  
12 regulation.

E3 Q. Is that fever?

14 A. Well, it could be fever or hypo -- the other one,  
15 when your temperature is too low. Hypothermia. But in either  
16 case, it's an alteration in the thermal regulation. I don't  
17 know of anything else which is universally associated with  
18 bacteremia.

19 Q. I take it, Doctor, this patient was one that, in  
20 your opinion, had become bacteremic at some point in time.

21 A. Yes.

22 Q. Was this patient bacteremic before he was  
23 meningitic?

24 A. Yes.

25 Q. As to the hyperthermia category, Doctor, associated

1 with bacteremia, what is the temperature which in 1970 would b  
2 considered within the purview of a differential diagnosis of  
3 bacteremia?

4 MS. McDONALD: Well, you know the doctor wasn't  
5 practicing in 1970, and also he's not giving opinions on the  
6 standard of care.

7 MR. GOLDBERG: I'm not talking about the standar  
8 of care.

9 MS. McDONALD: He wasn't practicing in 1970.

10 MR. GOLDBERG: Doesn't mean a hoot to me. This  
11 man has written historical perspective and if he doesn't know,  
12 I'll accept that answer.

13 Q. (By Mr. Goldberg) On anything you don't know, just  
14 tell me, Doctor. But can you tell me what in 1970 was  
15 considered bacteremic as to the range?

16 A. I don't believe there really was a clearly  
17 articulated standard, and the reason is that if one looks at  
18 the history of the concept of fever and bacteremia, the  
19 investigations into that concept didn't begin until after the  
20 events of this case arose, probably around 1972 to 19'93.

21 Q. That doesn't mean, Doctor, that physicians didn't  
22 consider, nevertheless, that bacteremia may be present and be  
23 associated with certain temperature, did it?

24 A. I'm not suggesting that, but you asked me for a  
25 specific threshold or a specific definition, and as I have

1 said, I don't think that there was anything specifically  
2 articulated in 1970, 1971. It was known that fever could be a  
3 sign of a child who had an infection, including a bacteremia.

4 Q. What range of fever was published in the texts in  
5 1965 through 1971? Let's go to 1973.

6 A. Again, the ranges that we've already talked about.  
7 Where do you define a fever to begin and end? Most people, the  
8 ranges go from maybe 100 to 100.5 Fahrenheit all the way up  
9 from it. I have already told you what I consider to be a  
10 workable threshold, but you know, we're just dealing with  
11 something used as a warning sign. We're not dealing with  
12 anything that has more of a potent biological fact connected  
13 with it for meaning that you never get a bacteremia below a  
14 certain amount and you always get a bacteremia above a certain  
15 amount. We're just asking when do people start to consider an  
16 infection in someone whose temperature was elevated.

17 Q. Not all infections are bacteremic; correct?

18 A. Correct.

19 Q. But all bacteremias are infection; correct?

20 A. Correct. Well, no. That's really not correct,  
21 because people can have silent self-resolving bacteremia with  
22 no signs of disease. So actually, that's not a correct  
23 statement.

24 Q. All right. I understand what you're saying. The  
25 majority of bacteremias are infectious; is that correct?

1 A. No, the majority of bacteremias that are symptomatic  
2 are infectious.

3 Q. Okay. Symptomatic is what you would require to be  
4 infectious; right?

5 A. Correct.

6 Q. Symptomatic being a fever.

7 A. Yes.

8 Q. Nothing beyond that, would you agree, would be  
9 classically associated with bacteremia?

L0 A. Not universally associated.

11 Q. Now, Doctor, in then getting back to where we were,  
12 if a patient is bacteremic with infection and has a fever,  
13 there may in the temporal time period be a point in which that  
14 fever can be a sign of bacteremia and then will become also a  
15 sign of meningitis; correct?

16 A. Both bacteremia and meningitis have as a common sig  
17 fever.

18 Q. Doesn't have to be from the same time period or the  
19 same source?

20 A. It's only in the same patient.

21 Q. Right. And that sign of fever, bacteremia and feve  
22 that we just talked about, if the organism enters a joint, whe  
23 it enters the joint, that also may be a sign of whichever  
24 phrase you choose, septic arthritis, bacterial arthritis, or  
25 pyogenic arthritis; is that correct?

1 A. If a person has inflammatory response to the  
2 presence of bacteria in a joint, it is accompanied by a fever.

3 Q. And in the same patient, then, it's not mutually  
4 exclusive that fever may be present and be a sign of more than  
5 one ongoing process; correct?

6 A. Fever can be present and can be due to more than one  
7 ongoing process, yes.

8 Q. In the same patient, it may be from a bacteremia or  
9 it may be from a septic arthritis or meningitis; correct?

10 A. Yes, or anything else.

11 Q. Now, Doctor, in the temporal period that we've been  
12 talking about, in a patient in whom septic arthritis arises and  
13 there's a fever, are there classical signs or symptoms  
14 associated with this process, whether you call it arthritis,  
15 septic or bacterial or pyogenic arthritis? Are there signs and  
16 symptoms associated with that?

17 A. Yes.

18 Q. What are they?

19 A. Pain, joint swelling, sometimes redness cutaneously  
20 over the joint, and disuse of the joint.

21 Q. Is it your opinion that in a child six months of age  
22 with a fever of unknown origin in whom a unilateral instead of  
23 bilateral septic joint is diagnosed, that that patient may be  
24 at risk for meningitis?

25 A. May I clarify the question?

1 Q. Can you clarify it?

2 A. May I?

3 Q. Sure.

4 A. Are you asking me, if you have a person who has a  
5 septic joint, are they at risk for meningitis from the organism  
6 causing the septic joint? Is that what you're asking me?

7 Q. Yes, sir. May they be at risk?

8 A. Rarely.

9 Q. Rarely, but nevertheless, some patients may be at  
10 risk; is that a correct statement?

11 A. Rarely.

12 Q. Is the answer yes?

13 A. The answer is rarely, yes.

14 Q. Okay. I'll accept "rarely," Doctor, but I have to  
15 know if it's yes. Okay? I don't want to imply or infer in an  
16 answer. I want to just know yes, but it's rarely. That's  
17 all. Is that what your answer is?

18 A. That's correct.

19 Q. Okay. I'll accept it. I'm not here to argue with  
20 you. I'm only here to try to get an answer.

21 Now, did this patient have a septic arthritis or a  
22 bacterial arthritis or a pyogenic arthritis, in your opinion?

23 A. Perhaps.

24 Q. Is the "perhaps" because you don't find sufficient  
25 data in the chart to confirm it, or take it out of the

**SANTA FE OFFICE**

123 East Marc?, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949

FAX (505) 820-6349



**MAIN OFFICE**

500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494

FAX (505) 843-9492

**1-800-649-9492**

1 differential?

2 A. Correct.

3 Q. Is that what you're saying?

4 A. Yes.

5 Q. Thanks. Did this patient have meningitis?

6 A. Yes.

7 Q. Type B group?

8 A. Hemophilus influenzae.

9 Q. Type B?

10 A. That's correct.

11 MS. McDONALD: Did you say type A?

12 MR. GOLDBERG: No.

13 MS. McDONALD: Type B?

14 MR. GOLDBERG: Yes.

15 Q. If, hypothetically, this patient had sufficient  
16 findings charted that were present confirming that there was a  
17 septic arthritis, hypothetically -- I'm asking you to assume  
18 that for the moment -- would you be able to say which occurred  
19 first, the septic arthritis or the meningitis in this patient?

20 A. No.

21 Q. Why not?

22 A. Because one can never know the exact time of the  
23 onset of meningitis. That's why it's always a theoretical  
24 construct, and therefore, it's hard to answer a question as to  
25 which comes first.

1 Q. Can you tell me when this patient, in your opinion,  
2 subclinically had meningitis?

3 A. No, that can't be known.

4 Q. You have no opinion?

5 A. My opinion is that cannot be known.

6 Q. But you don't have an opinion?

7 A. That's correct.

8 Q. And your opinion further is you don't believe anyone  
9 could do that?

10 A. That's correct.

11 Q. If, Doctor, it is your opinion that one can never  
12 tell subclinically when one has meningitis, the only way you  
13 could ever say someone had meningitis is to have criteria and  
14 data to confirm it; right?

15 A. The only way you know for sure whether someone has  
16 meningitis is one of two ways.

17 Q. Which is?

18 A. There are individuals who have clinical meningitis  
19 very clear and, in fact, have meningitis, but the confirmatory  
20 test, which is the lumbar puncture, is the only way to  
21 absolutely confirm the diagnosis.

22 Q. **Now**, taking that statement and working with that,  
23 hypothetically, meningitis may be ongoing for a considerable  
24 period of time before a patient dies; right? Let me restate  
25 that. There is a time period before a death in a patient who

1 has meningitis of this type that they have; correct?

2 A. Are you talking about an Untreated patient?

3 Q. Yes, sir.

4 A. Yes. That's true.

5 Q. Well, we'll deal with an untreated patient because  
6 it will be easier for the moment for me. So let's take a  
7 hypothetically untreated six-month-old that has meningitis type  
8 B, Hemophilus influenzae type B, who does not get treated.

9 There is a time when it begins; correct?

10 A. Theoretically, yes.

11 Q. And a time when the patient dies; right?

12 A. That is correct.

13 Q. So we have those two times. Doctor, I'm trying to  
14 work with a concept with you, so just bear with me, I'm tryin  
15 to do something reasonably with you.

16 Therefore, the only way, as I understand it, in  
17 those two time periods you could absolutely know you have  
18 meningitis is when the spinal tap is done; right?

19 A. Well, as I said, there are clinical pictures.

20 Q. I'm talking about absolutely.

21 A. Well, but there are clinical pictures in which  
22 someone absolutely has meningitis and you don't need the  
23 confirmatory tap in an untreated patient.

24 Q. So what you're saying is, there are some patients  
25 that if you're called to see that patient and you get an

1 accurate history -- because you do need a history, don't you,  
2 Doctor?

3 A. A history can be useful sometimes, yes.

4 Q. Are you telling me that there's a clinical picture  
5 without a history, that you can come across a patient and know  
6 it's meningitis without a history?

7 A. Yes.

8 Q. Really? Tell me that clinical picture without a  
9 history.

10 A. Sure. It's done all the time. **An** infant comes in,  
11 they're febrile, they have a bulging fontanelle, have depressed  
12 level of consciousness, they're arching, or perhaps they're  
13 stiff, or perhaps they're having a seizure, and the diagnosis  
14 is meningitis, and the parents have not made it to the  
15 emergency room or they're unavailable.

16 Q. Absent that picture, can you tell absolutely?

17 A. As I said, the confirmatory test is a lumbar  
18 puncture.

19 Q. Well, I wrote down the picture that you said  
20 clinically, absolutely you could tell. You said febrile, an  
21 infant, first. Bulging fontanelle, arching, stiffness and  
22 seizure. If I remove one of those, wouldn't it then make it  
23 subject to some other historical cause for the problem? That  
24 could be the same findings in a child?

25 A. I would say as a matter of practice, sir, that the

1 clinical diagnosis of meningitis rarely requires historical  
2 information, and is almost always made on the physical  
3 examination at the time of the diagnosis.

4 Q. Is it made because there's a bulging fontanelle?

5 A. It's made because there are findings present which  
6 define clinically overt meningitis.

7 Q. Well, is a bulging fontanelle necessary for the  
8 diagnosis to be made?

9 A. No.

10 Q. Is arching necessary for it to be made?

11 A. No.

12 Q. Is a stiffness necessary?

13 A. No.

14 Q. Are seizures necessary?

15 A. No.

16 Q. Febrile is necessary.

17 A. Almost always present.

18 Q. Thank you.

19 A. But in some children, of course, they're  
20 hypothermic, as we said.

21 Q. Now, getting back to what I was asking about a  
22 hypothetical patient in whom there's a beginning and a death,  
23 untreated patient, six-month-old, absent this clinical picture  
24 if one absolutely wanted to know if it was present and did a  
25 spinal tap, one of two things will occur. Tap is positive or

1 it's not; right?

2 A. Correct.

3 Q. Is there a point in time, Doctor, if you were to  
4 wait 30 minutes in a patient that a spinal tap could be done 3  
5 minutes apart and one tap in the same patient, the process of  
6 the disease hasn't gone far enough to make the spinal tap  
7 positive and yet 30 minutes later it might be, in theory?

8 A. Well, there have been experiments of nature in which  
9 actual real children have had spinal taps performed which had  
10 no cells in them, and half an hour later had a spinal tap  
11 performed and there were 1500 cells in it.

12 Q. *And* I have read about that, and that's why I'm  
13 asking you the question. So how do you explain that?

14 A. The explanation is that between those two spinal  
15 taps, the patient developed an inflammatory response.

16 Q. Does that mean that the organism in that 30-minute  
17 period entered the body?

18 A. No. The organism was present in order to induce the  
19 inflammatory response in the spinal fluid.

20 Q. How long, in your opinion -- if you can opine -- has  
21 that organism been present before, to explain the positive tap

22 A. It can't be known.

23 Q. It's incapable of being scientifically, medically,  
24 reasonably known; correct?

25 A. Correct.

1 Q. What is the reason that you are of the opinion and  
2 others have published and are of the same opinion, but serial  
3 lumbar punctures are not done?

4 A. It provides no useful information on which  
5 management is based.

6 Q. Can septic joints nevertheless have serial taps?

7 A. Septic joints in their management may, in fact, have  
8 serial taps in order to evacuate the joint, not for the  
9 confirmation of a diagnosis.

10 Q. Not for --

11 A. No.

12 Q. -- confirmation. Can a tap nevertheless confirm th  
13 presence of the organism?

14 A. Yes.

15 Q. Can a tap be done of a joint and, if present in the  
16 joint on a probability basis, does that mean it may not be  
17 present in the meninges -- in the spinal fluid, rather? If  
18 you're to do a tap -- a tap of the joint and a lumbar puncture  
19 in the same patient -- could you have in one instance in that  
20 same patient a positive lumbar -- a positive tap of the joint,  
21 but a normal finding of the lumbar puncture?

22 A. Yes.

23 Q. Or is that unlikely?

24 A. No. Yes, and that's in the far majority of cases.  
25 As I said, the alternative scenario is rare.

1 Q. So what is -- I got Lost in your answer. You can  
2 have that occur, a joint be found to be positive, but the  
3 lumbar puncture be normal?

4 A. Yes, and that is true in almost all cases.

5 Q. But that is what is most likely to occur; right?

6 A. In almost all cases, yes,

7 Q. That means when you say almost all cases, you mean  
8 there are some cases where a patient may, if you do a joint  
9 tap, also have a positive lumbar puncture; right?

10 A. Yes. Rarely.

11 Q. Now, Doctor, do you know for how long, in light of  
12 the fact that a lumbar puncture was done on this patient --  
13 which does confirm absolutely, by your definition, the patient  
14 had meningitis; correct?

15 A. Yes.

16 Q. H. flu type B meningitis; right?

17 A. Yes.

18 Q. And why is it that you can say absolutely? What did  
19 the lab report specifically say?

20 A. The spinal fluid had pus cells and the organism  
21 present concurrently.

22 Q. Does it make a difference how many pus cells?

23 A. I don't understand the question.

24 Q. Does it make a difference how many organisms you  
25 had?

1 A. I don't understand the question.

2 Q. There was a definition of how many cells were found  
3 wasn't there, Doctor, abnormal cells?

4 A. It counted the cells, yes.

5 Q. Is it the number of cells or just the fact that a  
6 single cell or more than one was present?

7 A. well, as you well know, sir, there is a range of  
8 normal white cells in the spinal fluid, and if one exceeds  
9 that, it is thought to be abnormal and therefore a pathologic  
10 state. Again, it's like temperature. There's no absolute  
11 biological threshold. Everyone, of course, would accept the  
12 fact that almost 3,000 white cells is abnormal, and that's what  
13 was seen here

14 Q. It's your opinion that 3,000 white cells would be  
15 abnormal; correct?

16 A. Yes.

17 Q. And 3,000 white cells is what was seen here; right:

18 A. Almost, yes.

19 Q. Are you in a position and do you have an opinion to  
20 say for how long, Doctor, this patient prior to the tap had  
21 meningitis?

22 A. I'm in a position to say that cannot be known.

23 Q. And you can't work backwards with the data from the  
24 spinal tap to determine when it began or how long it had been  
25 present; correct? Is that a fair statement?

1 A. Right.

2 Q. And in your opinion, no one could do that  
3 scientifically and reasonably medically?

4 A. Correct.

5 (A discussion was held off the record.)

6 (The deposition recessed at 1:07 p.m., and  
7 resumed at 1:42 p.m., as follows:)

8 Q. When we left off, Doctor, we were talking about some  
9 things that I want to carry forward with. I had asked you if  
10 you could tell for how long prior to the results being obtained  
11 on the 7th of the lumbar puncture that meningitis had been  
12 present in the child, and you said you couldn't, and you  
13 further added, no one could. Do you recall that, my  
14 questioning?

15 A. Yes.

16 Q. I'm doing this to focus to come back to the point.  
17 Do you have an opinion, nevertheless, on a probability basis as  
18 to how long it had been present?

19 A. No.

20 Q. Is that then something which, likewise, you don't  
21 believe you could do?

22 A. Correct.

23 Q. Do you recall there being done a blood culture on  
24 the 4th?

25 A. Yes.

1 Q. And it was positive, was it not?

2 A. Yes.

3 Q. What was it positive for, Doctor?

4 A. Hemophilus influenzae type B.

5 Q. Well, if you know there was a positive blood culture  
6 on the 4th that was drawn and it was that type, Hemophilus  
7 influenzae type B, is it your opinion that on the 4th, this  
8 child, if a tap had been done, would have been also positive,  
9 the lumbar puncture would have been positive?

10 A. Let me try to answer it this way. No one can answer  
11 that question with certainty, one. Two, a spinal tap was not  
12 indicated. And three, I cannot tell whether a spinal tap, if  
13 performed, would have been positive or not for the reasons I  
14 have already stated.

15 Q. Now, let me just take them separately. A blood  
16 culture on the 4th was done, and it shows the presence of  
17 Hemophilus influenzae type B; correct?

18 A. Yes.

19 Q. And is it your opinion that on a probability basis,  
20 if a lumbar puncture would have been done, you cannot say one  
21 way or the other whether it would have been positive or not?

22 A. I don't think anybody can.

23 Q. I'm not asking about anybody. Move to strike. Can  
24 you tell? That's what I'm asking first.

25 A. Not to a reasonable degree of medical probability.

1 No.

2 Q. And why not, Doctor?

3 A. Because there's not enough information historically  
4 in the case record or biologically to actually answer your  
5 question.

6 Q. There's not enough information historically or  
7 biologically?

8 A. That's correct.

9 Q. To answer the question?

10 A. That's correct.

11 Q. What information would you need biologically that  
12 you don't have?

13 A. There is no information regarding human beings as to  
14 the duration of bacteremia prior to the onset of meningitis as  
15 I have defined, meaning the combined presence of pus cells and  
16 bacteria in the spinal fluid. Therefore, there's no biological  
17 matrix in which to answer the question.

18 Q. Doctor, a blood culture which shows Hemophilus  
19 influenzae type B being present -- what does that mean to you?

20 A. It means that the child was bacteremic.

21 Q. That's all it means to you; right?

22 A. That's correct.

23 Q. And that the offending organism was Hemophilus  
24 influenzae type B?

25 A. Yes.

1 Q. Can you tell for how long that bacteremia had been  
2 ongoing?

3 A. No.

4 Q. With regard, Doctor, to historically, what would you  
5 need to know? You said in response to my question you couldn'  
6 tell because historically there wasn't enough information.  
7 What are you referring to that you would like to know?

8 A. Things that might be helpful, although in the final  
9 analysis not totally helpful, would be a richer description of  
10 the child's physical condition, degree of interactiveness,  
11 alertness.

12 Q. Richer description of the child's condition  
13 regarding --

14 A. Alertness, interactiveness and general condition.

15 Q. And general condition; right?

16 A. Yes.

17 Q. Have you finished your answer?

18 A. Yes.

19 Q. At what point in time?

20 A. On the 4th, which is the --

21 Q. On the 4th.

22 A. Which is what you focused in on.

23 Q. Doctor, please understand, I'm being sincere when I  
24 say this. I'm going to use your words now to try to have you  
25 define so I can work with them. All right? A richer

1 description, as you use that phrase -- what does that mean?

2 A. Well, all I know is -- all that's available to me to  
3 recreate the child's condition is the medical record.

4 Q. I understand that. But what does "a richer  
5 description" mean?

6 A. I haven't finished my answer.

7 Q. I'm sorry.

8 A. The description of the child on the 4th includes a  
9 number of physician notes and some nursing notes, the longest  
10 of which is Dr. Gotoff's note from that point in time, but the  
11  
12 in detail to allow me to recreate the child's condition at that  
13 time. They obviously thought the child was not significantly  
14 ill, but my job is to recreate retrospectively what the child  
15 looked like in order to make expert pronouncements.

16

17

18

19

20 A. What I'd be looking for would be inappropriately  
21 altered level of consciousness that is clinically meaningful,  
22 along the lines that we've already talked about, and therefore  
23 all information bearing on that issue would be the information  
24 that I would seek from a richer description.

25 Q. Give me the kinds of words that would be appropriat

1 to use.

2 A. The best for me would be a description of what the  
3 child was doing, how the child interacted with mother, how the  
4 child interacted with examiners, the actual activity of the  
5 child. In other words, a neutral description of what the child  
6 was like by an observer.

7 Q. What do you mean by "neutral"?

8 A. Neutral means that they're presenting the facts  
9 without making a value judgment of those facts.

10 Q. The criteria to reach a conclusion medically?

11 A. No. The actual description without saying that the  
12 child looked lethargic, irritable. These are all conclusory  
13 statements. That's different than saying the child is playing  
14 actively with a toy, the child is looking at mother, and so  
15 on.

16 Q. Insofar as alertness, the normal finding -- or tell  
17 me, describe a normal alert child, the way you believe the  
18 description would be, if you wanted to describe a normal  
19 child.

20 MS. McDONALD: At six months?

21 MR. GOLDBERG: Six months, alert.

22 A. A six-to-seven-month-old child is usually -- all the  
23 time has a great range of social interactions with people, the  
24 child feels most comfortable with their mother and interact  
25 vividly with the mother in terms of eye contact, smiling,

1 reciprocal facial expressions, cooing, response to cuddling,  
2 and that sort of thing. A child of that age may manifest some  
3 strains'or anxiety, although that's not usually the rule. It  
4 usually happens somewhat later in their development. Certainly  
5 responds vigorously to noisome stimulus, like examinations, but  
6 they're engaged and engaging at that age.

7 Q. Are you finished with your answer?

8 A. Yes.

9 Q. You, in describing alertness, may or may not have  
10 included, by my notes, interactions and general condition. Is  
11 interactions different than what you just described?

12 A. Interactions?

13 Q. Interactions.

14 A. That's what I just described.

15 Q. So is alertness and interactions the same or  
16 different? Or is one of the criteria for alertness  
17 interactions?

18 A. The operative way one decides on alertness has --  
19 part of which is the interactions that a child has with people  
20 and things in the environment.

21 Q. **Now**, you said a richer description regarding the  
22 general condition. What does general condition include?

23 A. Color, respiratory rate, the temperature of the  
24 extremities, the child's feeding. You know, basic vegetative  
25 functions.

1 Q. And there's an absence of that on the 4th, a richer  
2 description?

3 A. Yes, there's not a rich description of those things  
4 on the 4th.

5 Q. How about on the 5th? Is there such a description?

6 A. The note by Dr. Zarif on the 5th does include some  
7 of the general items, including a child who is Comfortable,  
8 without respiratory distress, but again, it's not a  
9 particularly rich description. Obviously, to Dr. Zarif, the  
10 child looked well enough not to proceed with any further  
11 diagnostic workup.

12 Q. How about on the 6th? Is there a rich enough  
13 description to tell you what you described?

14 A. The only thing that's there is that the child ate  
15 fairly well. The rest of the description has to do with the  
16 specific line items, physical findings and, of course, the not  
17 by Dr. Shostrom regarding the child's right arm.

18 Q. Does that mean that there isn't a kind of rich  
19 description on the 6th, either, that you're referring to that  
20 you'd like to see on the 4th?

21 A. That's correct.

22 Q. Let's go to the 7th. Does it have the type of rich  
23 description that you're referring to?

24 A. No.

25 Q. How about the 8th?

1           A.       There is the notation by Dr. Zarif on the 8th that  
2 the child is irritable, but that's the only description of the  
3 child, other than again some line item physical findings.

4           Q.       would it be correct to say, then, that at no time c  
5 the 4th, 5th, 6th, 7th, or 8th in the written chart do you fin  
6 the kind of rich description you would like to see?

7           A.       That's correct. And I say what I would like to see  
8 to help me in my job.

9           Q.       I understand.

10          A.       Not that they were obliged to put those things down  
11 but it would just help me out.

12          Q.       I understand.

13                   MS. McDONALD: In your job as an expert witness?

14          A.       That's correct.

15          Q.       now, in your job as an expert witness, Doctor, let'  
16 translate that now a little further into something more basic.  
17 Is it your medical opinion that communication regarding what  
18 you have described as a general description among the members  
19 of the team must and should be undertaken?

20          A.       I don't quite understand that question.

21          Q.       You don't?

22          A.       And I'll tell you why.

23          Q.       Sure. Tell me why.

24          A.       Because members of the team don't usually discuss  
25 the description of a child's general state, because members of

1 the team can see it with their own eyes. It's not the sort of  
2 thing that needs to be communicated per se, unless someone is  
3 not there and you're talking to them on the telephone, for  
4 example.

5 Q. Well, Doctor, let's even get more basic. You just  
6 said unless one, is not there and you're talking to them on the  
7 telephone. How about if someone wasn't there during a  
8 seven-or-eight-hour shift and you wanted to know what had gone  
9 on in those seven, eight hours before? Is that the kind of  
10 communication, either orally or written, that reasonably should  
11 be exchanged between members of the team?

12 A. No.

13 Q. No?

14 A. No. You usually exchange conclusions, not  
15 descriptions.

15 Q. I see. So is it your medical opinion, Doctor, that  
17 nowhere in the chart, according to the standard of care, is it  
18 necessary to describe what you described as being a more rich  
19 description in order to comply with proper charting and  
20 communication orally?

21 MS. McDONALD: Standard of care at what time?  
22 Again talking about standard of care --

23 MR. GOLDBERG: Standard of care. Just because  
24 he's not talking about it doesn't mean I can't ask him about  
25 it.

1           A.     I'm unaware of any link between the degree of  
2 documentation and the standard of care

3           Q.     And that includes orally, as well? Is that what  
4 you're saying, Doctor?

5           A.     The degree of documentation and the standard of  
6 care. I'm unaware of any --

7           Q.     I didn't limit it to documentation I said  
8 communication, either orally or in writing. Isn't it necessary  
9 and appropriate that among the members of the team, that  
10 information be made known?

11                   MS. McDONALD: What information?

12           A.     I can't answer that question as you phrased it.

13           Q.     You can't? Well, Doctor, when you were in Colorado  
14 didn't you teach specifically residents and nursing personnel  
15 that you expected and exacted of them that they, in fact,  
16 report to you that very thing you have described as being a  
17 rich description --

18           A.     No.

19           Q.     -- concerning patients?

20           A.     No.

21           Q.     You never did that?

22           A.     No. What I asked them to do, as I think I have  
23 already said before, is to let me know of meaningful changes in  
24 the child's condition.

25           Q.     And you never asked them to be, sufficiently in

1 their descriptions, to be able to have a basis of comparison;  
2 right?

3 A. Correct. I usually would ask them for a conclusion  
4 not a description.

5 Q. How long did you work in Denver?

6 A. I was on faculty from 1982 to 1987. I returned in  
7 1989 and left in 1991.

8 Q. ■ see. And how long did you work with Dr. Roger  
9 Barkin?

10 A. At the time that I was there in the early 1980s, Dr  
11 Barkin was the medical director of the Ambulatory Pediatric  
12 Clinic at the University of Colorado Health Science Center. I  
13 did a number of rotations through there, and therefore, ■  
14 interacted with him on a number of occasions between 1978 and  
15 1981. I don't know how many.

16 Thereafter, I was a colleague of his, and we would  
17 occasionally run into each other, but since we worked at  
18 separate institutions, it wasn't that common.

19 Q. With regard, Doctor, to -- going on, if it is your  
20 opinion that this particular patient had meningitis, do you  
21 have an opinion as to whether the giving of the antibiotic in  
22 question, which was given -- or those antibiotics -- strike the  
23 whole thing.

24 If, Doctor, in your opinion, knowing what you do now  
25 about the lab result from the lumbar puncture, this patient had

1       been given antibiotic of an appropriate regimen and dose and  
2       route, do you have an opinion as to whether it would have  
3       changed or altered or modified the outcome?

4                   MS. McDONALD:   Given when?

5                   MR. GOLDBERG:   At any time.

6                   MS. McDONALD:   Are you suggesting that it was  
7       never given?

8           Q.       Before the 7th.

9           A.       For me, it's an incomplete question.   I would need  
L0       to know when it was being given and for what indications it wa  
11       being given.

12          Q.       No, you don't need to know for what indications.

13          A.       Yes, I do.

14          Q.       No, you don't.

15                   MS. McDONALD:   If he says he needs to, he needs  
16       to.

17          Q.       No, you don't, Doctor.   All you need to know is  
18       whether the giving of the medication before that would have in  
19       any way impacted the outcome of the disease, regardless, even  
20       if it was by chance.   I'm asking you a biological answer.   Do  
21       you have an opinion?

22          A.       The only way that question can be answered with the  
23       whole truth is to know what the indications were.

24          Q.       No, I don't agree with you, and I'm not going to  
25       accept your statement.   If you can't answer it, tell me.

1           A.     Well, I have told you I can't answer your question  
2 as stated.

3           Q.     Okay. I'll accept that. And at the trial, you will  
4 so answer and be bound to that.

5                     Now, Doctor, with regard to your last statement, ar  
6 you telling me that in order to know as a physician and  
7 scientist whether the giving of medication, namely antibiotic,  
8 at a time earlier to this patient would have made a difference  
9 you have to know why the patient was being given that  
10 medication?

11          A.     Yes.

12          Q.     Really? Well, Doctor, whether it's for any number  
13 of reasons or not, will it have the same effect, no matter wha  
14 the indications are?

15          A.     Take my word for it, sir. To answer that question  
16 truly, you need the information.

17          Q.     Really. Well, you're the first doctor that I have  
18 ever deposed out of 4,500 that have ever said that. You are  
19 now in a unique class. And I have deposed 4,500 doctors, and  
20 no one has ever said that. And I have read over 40,000  
21 articles. No one has ever written that. So tell me what you  
22 base that upon. I'd like to hear it. Give me a scientific  
23 basis for that answer. I want to hear this one. Let's have  
24 it. I'm waiting.

25                     MS. McDONALD: Well, he's allowed to reflect on

1 something, so don't get pushy.

2 MR. GOLDBERG: I'm not interrupting. Just  
3 letting him know I'm ready. I'm waiting to hear it.

4 A. To make a determination in bacterial meningitis as  
5 to the effect of the timing of antibiotics, one needs to know  
6 what the indication is, because of the fact that when  
7 antibiotics are given for particular indications, the  
8 probability or the possibility of disease being present is  
9 raised or lowered by the indication.

10 For example, if I knew by some crystal ball that  
11 next week I would be coming down with bacterial meningitis, I  
12 would start the antibiotics now. But that's fantasy. The real  
13 world is that people are given antibiotics for a reason, and  
14 the only way that an expert witness can answer the question to  
15 the whole truth is to know what the reason is.

16 Q. Now I appreciate what you have said, and I  
17 understand quite well your answer. Does the drug act  
18 differently because of the reason, Doctor, insofar as its  
19 biological processing or effect? Mode of action and the effect  
20 of the drug on the human body? Does the drug, the antibiotic,  
21 have to say, "I want to know what I'm being given for before  
22 I'll do my thing," or will it still do the same thing,  
23 regardless of what it's given for?

24 A. Well,, as you know, sir, antibiotics don't act on the  
25 human body.

1 Q. It doesn't? What do they act on?

2 A. They act on dividing bacteria. At least these kinds  
3 of drugs act on dividing bacteria.

4 Q. Excuse me. When I use the human body, Doctor, I'm  
5 talking about all of those components. So are you saying to me  
6 under oath that the effect that an antibiotic such as  
7 ampicillin or the like that was given here will have on the  
8 bacteria will be different based upon the bacteria knowing what  
9 it's being given for or expected to do?

10 A. No. The mode of action is the same.

11 Q. Thanks. You know, respectfully, what mode of action  
12 means?

13 A. Yes. Do you?

14 Q. Yes, I do. I have done a little bit of work in this  
15 Doctor, for 30 years. I think I know. Have you read the PDR  
16 on ampicillin?

17 A. Not likely.

18 Q. Have you read it on the drugs that were given in  
19 this case?

20 A. No.

21 Q. Have you read it for the year 1970?

22 A. No.

23 Q. Do you know, Doctor, or have you looked at the  
24 package insert for the drugs that were given in this case?

25 A. No.

1 Q. I see. Have you done any scientific research on the  
2 drugs that were given in this case?

3 A. What do you mean by scientific research?

4 Q. Have you done any formal studies involving a  
5 protocol on these drugs?

6 A. I have not done drug studies per se on any of the  
7 drugs used here.

8 Q. What were the drugs given on the 7th, Doctor?

9 A. Ampicillin.

10 Q. What was the drug or drugs given on the 8th?

11 A. Well, are you talking about antibiotics, or all.  
12 drugs?

13 Q. Antibiotics, Doctor. Thank you. Even more  
14 specific, the drugs that were given for the diagnosis.

15 A. Oh, ampicillin.

16 Q. On the 8th?

17 A. That's correct.

18 Q. On the 9th?

19 A. The same.

20 Q. Was anything given other than ampicillin to this  
21 patient from the time of the tap, Doctor?

22 A. Yes. Chloramphenicol was given.

23 Q. On what date, Doctor?

24 A. The 14th. Looks like the first dose of  
25 chloramphenicol.

1 Q. Was any antibiotic given before the spinal tap?

2 A. I have no evidence of that, no.

3 Q. Doctor, the routes methodologies -- were they oral  
4 or was it parenteral?

5 A. Parenteral.

6 Q. All of those days?

7 A. Are you talking about all days?

8 Q. 7th, 8th, 9th, 14th.

9 A. Let's see. The 7th and the 8th and the 9th,  
10 parenteral. The 14th, parenteral.

11 Q. Is it your medical opinion, Doctor, that ampicillin  
12 was effective on the 7th in any way?

13 A. I believe it was.

14 Q. Even more specifically, was it efficacious?

15 A. In the sense that it killed the bacteria, yes.

16 Q. Was it efficacious on the 8th and 9th?

17 A. I believe so.

18 Q. Was the chloramphenicol efficacious?

19 A. Well, sir, that's a little hard to know. It was  
20 being given at a much later time, and I'm not entirely  
21 convinced that there were viable bacteria there causing  
22 infections to be killed at that time. But it certainly is, in  
23 general, an efficacious drug.

24 Q. Now, Doctor, in the same way that its effect took  
25 place on the 7th, do you have an opinion if it had been given

1 hours before, in the hours before, starting from midnight to  
2 when it was given,, it would have had the same effect on this  
3 particular bacteria in the patient?

4 A. The antibiotic always has the same effect on  
5 bacteria, no matter when it's given.

6 Q. Would that hold true on the 6th, 5th, or 4th, if it  
7 was given?

8 A. Again, biologically, the antibiotic always has the  
9 same effect on bacteria whenever it's given and bacteria are  
10 present

11 Q. Does that mean yes to the question?

12 A. Yes, in the sense that I have already explained.

13 Q. Okay. Now, Doctor, with regard to the giving of  
14 antibiotic, in your opinion, if you have one, in 1970 for  
15 appropriate circumstances when they exist for the giving of  
16 antibiotic for meningitis or suspected meningitis, in that  
17 context, is there a time period within which, in your opinion,  
18 before the drug can extinguish or kill off or stop the  
19 replication -- whichever one you want to call it, different  
20 doctors have told me different things -- I believe it's  
21 stopping the replication -- but what do you believe is the time  
22 period it takes for ampicillin to be effective?

23 A. With regard to bacterial meningitis?

24 Q. Yes, sir.

25 A. With regard to sterilization of the spinal fluid?

1 Q. Right.

2 A. It varies, depending on a number of factors

3 Q. Give me the factors.

4 A. The factors are the dose of the antibiotic, the  
5 degree of inflammation of the central nervous system, the  
6 number of bacteria that are present at the time that the  
7 antibiotic is first given, the degree of inflammatory response  
8 and its effectiveness, and any resistance factors that might be  
9 present in the bacteria itself.

10 Q. Doctor, have you read the PDR or the package insert  
11 for these drugs as to what they opine in their literature is  
12 the effective time within which the mode of operation and  
13 effectiveness will take place?

14 A. No.

15 Q. You have never read that?

16 A. No.

17 Q. Have you read any studies that indicate that?

18 A. I have read a large number of studies that look at  
19 antibiotics, spinal fluid and sterilization, yes.

20 Q. And Doctor, have you read any studies that give you  
21 a time period from the time of the drug being given  
22 parenterally until the time it has an effect on the organisms,  
23 as a range?

24 A. Well, the range is dependent on the factors that I  
25 have already outlined.

1 Q. Well., Doctor, let me ask you something. Let's go  
2 through those factors. Are those things you can tell  
3 prospectively?

4 A. Prospectively?

5 Q. Yes.

6 A. Usually not.

7 Q. Are they things that you can tell retrospectively?

8 A. In some cases, yes.

9 Q. So there are many cases you cannot tell either  
10 retrospectively or prospectively; correct?

11 A. That's correct.

12 Q. I see. So that in those cases where you can't tell  
13 it retrospectively or prospectively, it's kind of like a  
14 crapshoot. Is that what you're saying?

15 A. I didn't use the word "crapshoot," as you know sir

16 Q. I'm asking you, is it a crapshoot?

17 A. It depends why you're making the inquiry. You see,  
18 these are not clinically relevant questions in a child who is  
19 improving, and all one needs to do is a spinal tap in order to  
20 ascertain whether sterility has occurred. So the kind of thin  
21 that you're talking about usually doesn't have much clinical  
22 importance, and is rarely engaged upon.

23 Q. If one doctor wants to prevent the risks of the  
24 disease from occurring, what does one have to do?

25 A. I don't understand the question.

1 Q. All right. Meningitis. When it is in a particular  
2 patient and has begun, are there risks for that patient?

3 A. Yes.

4 Q. Are there risks of serious bodily harm and/or death

5 A. Yes.

6 Q. If one wants to, as best one can, hope  
7 prospectively, I use that phrase appropriately, if you want to  
8 try to reduce or prevent that from occurring, do you give  
9 antibiotics?

10 A. Yes. One gives antibiotics.

11 Q. What is the reason you give antibiotics? In the  
12 effort or hope to do what?

13 A. The hope is that antibiotics are being given to  
14 avoid death. That's the major reason for giving antibiotics.  
15 The secondary reason for giving antibiotics is the thought,  
16 unproven, but the thought that the degree of neurological  
17 injury can be lessened or the risk of neurological injury can  
18 be lessened by a timely dosing of antibiotics.

19 Q. Have you ever treated patients, Doctor, that you  
20 gave antibiotics with known or suspected meningitis?

21 A. Yes.

22 Q. Was it your intent to try to prevent death and also  
23 reduce the risks from occurring?

24 A. Yes.

25 Q. Did it work in some instances?

1 A. The antibiotics certainly prevented death.

2 Q. I understand that. So it worked in some instances,  
3 yet?

4 A. In the sense that I have explained, yes.

5 Q. Did it work insofar as reducing or eliminating  
6 neurological deficits?

7 A. I don't know.

8 Q. You don't know?

9 A. I hope so, but I don't know.

10 Q. You hope so, but you don't know; right?

11 A. Hope in the sense that I have already explained.  
12 One gives antibiotics with those hopes. And you're asking me,  
13 as a treating physician, always filled with hope. You're not  
14 asking me hopefully with respect to an expert opinion as to the  
15 effect of antibiotics on my patients.

16 Q. Right. I understand. So do you treat your patient  
17 differently than you opine as an expert, as what should be  
18 done?

19 A. No, I treat them exactly the way I talk about it as  
20 an expert.

21 Q. Now, staying with what I have just been working  
22 towards, Doctor, if it is your hope and goal -- and by the way  
23 you don't give the antibiotics unless you think it's  
24 appropriate; correct?

25 A. Correct.

1 Q. And when you give it, you believe it is appropriate  
2 right?

3 A. Correct.

4 Q. And when you have given it, you believed you were  
5 acting reasonably as a physician; correct?

6 A. Yes.

7 Q. And when you have given those antibiotics, Doctor,  
8 did you know what the degree of inflammation was of the centra  
9 nervous system?

10 A. No.

11 Q. Did you know the number of bacteria present when  
12 first given?

13 A. No.

14 Q. Did you know the degree of inflammation and respons  
15 and effectiveness?

16 a. No.

17 Q. Did you know the resistance factors?

18 A. Not at the time of the first dose, no.

19 Q. That's what I'm asking. At the first dose, did you  
20 **know** that?

21 A. I have already said no.

22 Q. The dose -- did you give it parenterally or did you  
23 sometimes give it orally?

24 A. I sometimes gave it orally.

25 Q. So it would depend upon the age?

1           A.     It depended upon the circumstances. The times I  
2 have given it orally was in Africa, where it wasn't capable of  
3 being given parenterally.

4           Q.     So you did the best you could.

5           A.     That's correct.

6           Q.     When you went to Africa, Doctor, were you doing it  
7 in some research fashion, or were you doing it as a good  
8 Samaritan, or both?

9           A.     I was trying to bring my medical skills there. It  
L0 wasn't being done for research.

11          Q.     When was that, please?

12          A'     We went to Africa -- I'm trying to remember.

13          Q.     Decade.

14          A.     About ten years ago.

15          Q.     Now, other than that you have given it parenterally  
16 other than in Africa --

17          A.     That's correct.

18          Q.     After the initial -- by the way, is there a starting  
19 dose when you gave the antibiotic?

20          A.     The starting --

21          Q.     Or a loading dose, whatever you want to call it?

22          A.     The starting dose is -- recommendations have varied  
23 over the years -- usually a first dose between 50 and 100  
24 milligrams per kilogram of ampicillin.

25          Q.     And after the initial dose?

E           A.     The dosing range was usually between 150 and 400  
2 milligrams per kilogram per day.

3           Q.     Did you in any of the patients you treated know the  
4 resistance factors?

5           A.     Again, at the time of the first dose, usually not.

6           Q.     How about after the first dose?

7           A.     Yes, you would learn some of the resistance factors  
8 after the first dose.

9           Q.     Did the results of the resistance factors cause you  
10 to change the medication, ampicillin?

11          A.     Usually not, because when there was an awareness of  
12 the possibility of resistance, multiple drugs were used  
13 initially, and then one would select out the best appropriate  
14 drug, given the laboratory results.

15          Q.     Did you know after the first dose the degree of  
16 inflammation of the central nervous system?

17          A.     Usually not.

18          Q.     Excuse me? Did you know what the degree of  
19 inflammation of the central nervous system was by the second  
20 dose?

21          A.     Usually you never really knew that.

22          Q.     That's my point. You would never know that, would  
23 you?

24          A.     Usually not.

25          Q.     Is there any way that you scientifically or

1 medically opined what you thought was probable or likely as to  
2 being the degree of inflammation of the central nervous  
3 system?

4 A. Well, I'm a little confused, sir. When you asked m  
5 about those particular items, you were asking me biologically  
6 what influences things.

7 Q. Right.

8 A. In a clinical sense, one rarely knows those things.

9 Q. Right. So those are biological factors, but not  
10 things that you would expect to know clinically at any time;  
11 right?

12 A. Usually not.

13 Q. By the way, Doctor, do you treat patients  
14 clinically, or do you treat them biologically?

15 A. You treat them clinically with a biological  
16 knowledge.

17 Q. Right. Isn't that exactly what you do?

18 A. Yes, sir.

19 Q. Thank you. Doctor, with regard to your personal  
20 experience with this type of meningitis in those patients that  
21 you said you diagnosed at 50 to 100 times, how many of those  
22 patients died?

23 A. I don't know.

24 Q. How many of those patients went on not to have  
25 severe central nervous system deficits?

1 A. I don't know exactly.

2 Q. In how many of them, Doctor, did you consider the  
3 treatment a success?

4 A. I don't think I ever thought about it in those  
5 terms.

6 Q. In how many did you think the drug was efficacious?

7 A. In 100 percent of the times sterility was achieved.

8 Q. Doctor, with meningitis, the mere -- strike that.  
9 With meningitis, elimination of the organism in and of itself  
10 isn't the only thing that can have deleterious effects on the  
11 human body; is that correct?

12 MS. McDONALD: You're saying elimination?

E3 MR. GOLDBERG: Yes, elimination of the disease.

14 MS. McDONALD: I'm not sure I understand the  
15 question.

16 MR. GOLDBERG: The organism, the elimination of  
17 the organism, doesn't mean that you won't have other  
18 deleterious effects, nevertheless.

19 A. Well, I'm confused, because elimination of the  
20 organism is not a deleterious effect.

21 Q. Doctor, the mere elimination of the organism doesn'  
22 mean that there isn't an effect from the disease having been  
23 present that may cause harm.

24 A. That's a true statement.

25 Q. Right?

1 A. That's correct.

2 Q. So the mere fact that you achieved sterility or  
3 elimination of the disease organism doesn't mean that the  
4 patient's out of the forest; right?

5 A. As I understand your question, that's a correct  
6 statement.

7 Q. Well, I'll take it to the next step and you would  
8 understand what I'm getting to. As the disease multiplies,  
9 Doctor, describe the pathophysiological process as to how it  
10 reacts with the body and what the body does concerning fighting  
11 the disease. What's the residue that's left?

12 A. I'm not quite sure I'm understanding what it is that  
13 you want me to describe.

14 Q. Well, Doctor, describe all the deleterious effects  
15 from the disease. Let's try it that way. What is the  
16 pathophysiological disease process, if it goes untreated?  
17 Describe what happens.

18 A. If it goes untreated?

19 Q. Yes, if it goes untreated, what happens?

20 A. Well, Hemophilus influenzae meningitis was 96  
21 percent fatal when untreated. 4 percent of people survived.  
22 The usual natural history was that approximately 1 percent of  
23 patients died in the first one to two days of malignant  
24 cerebral edema. The remainder, most of whom were destined to  
25 die, took an average of 20 days to die. Should I finish?

1 Q. Go ahead. I'm sorry. I'm just waiting.

2 A. The usual evolution of the disease in those children  
3 was a combination of three forces which moved at different  
4 speeds in different patients. One was vascular injury with  
5 infarction of brain tissue. The second was brain swelling.  
6 And the third one was some form of obstructive hydrocephalus.  
7 Ultimate death was caused usually either from increased  
8 intracranial pressure due to brain swelling or hydrocephalus,  
9 or from final infarction of a vital area of brain.

10 Q. As the disease multiplies, Doctor, and replicates,  
11 is there a residue or is there any additional problem that it  
12 causes as it multiplies at the cellular level?

13 A. I don't understand.

14 Q. Do you know Dr. Schulman?

15 A. I don't know him, no.

16 Q. I have deposed him three or four times involving  
17 meningitis, and if you haven't read his depositions, I can  
18 understand that. Let me try it this way. With regard to the  
19 destruction of the cells from the antibiotic, is there a  
20 residue that's left?

21 A. To my knowledge, the antibiotics don't destroy  
22 cells.

23 Q. Is there a process from the killing-off of the  
24 organism that has a deleterious separate effect, other than the  
25 disease growth?

1 A. I just don't understand your question.

2 Q. You don't understand it? I'll accept that. Now,  
3 Doctor, you were asked and given an assignment in this case.  
4 You were asked to review if there's any link between meningiti  
5 and the outcome in the focus; do you recall that?

6 A. No, I was asked to look for any link between the  
7 timing of antibiotics in the context of this illness and  
8 outcome.

9 Q. What was your opinion?

10 A. My opinion is that if antibiotics had been given at  
11 an earlier time for a similar indication, that the outcome  
12 would have been the same.

13 Q. What do you base that upon?

14 A. I base that upon the knowledge that the timing of  
15 antibiotics in the context of meningitis does not correlate  
16 with outcome when the illness preceding it is one of general  
17 and nonspecific symptoms and signs.

18 Q. Have you finished your answer?

19 A. Yes.

20 Q. Have you given me the bases and supporting reasons  
21 for all that?

22 A. I think that the bases and supporting reasons for  
23 that conclusion are well presented in my 1992 article.

24 Q. I appreciate that, but I'm not here to have you  
25

1 have just opined, please.

2 A. Yes. The basis is that in --

3 Q. You understand that in deposing you, I have a right  
4 to ask you the questions and not have you refer me to an  
5 article, is what I'm trying to suggest. That's all.

6 A. That's fine.

7 Q. So you can give me the --

8 A. The basis is that in an analysis of all studies  
9 extant at the time that the article was written in which  
10 information was presented that could be used as a basis for  
11 reaching a conclusion, that that analysis ended with the  
12 conclusion I just stated. So it's a result of a standard  
13 epidemiologic analysis of extant literature published up to  
14 1992, subsequently validated by other studies.

15 Q. Validated by other studies.

16 A. Yes.

17 Q. Tell me the other studies.

18 A. There have been two. One published in 1993 in the  
19 Pediatric Infectious Disease Journal. Professor Peltola was  
20 the senior author on that. And one published in the Journal of  
21 the American Medical Association, 1994, again with Dr. Peltola  
22 as the senior author.

23 Q. Was the 1994 article the same as the 1993, but just  
24 published in 1994?

25 A. No. Two different articles.

1 Q. New data?

2 A. Additional data, yes.

3 Q. *Any* of the data published in your article, Doctor,  
4 as a result of specific research?

5 A. I did no laboratory research.

6 Q. That's what I'm talking about. It's all a review o  
7 the iterature?

8 A. No, it's an analysis of the available information.

9 Q. So what you did was you personally took anaiysis,  
10 made an analysis of the literature that was existing; correct?

11 A. That's correct.

12 Q. ~~Who~~ did you do it with?

13 A. No one.

14 Q. All yourself?

15 A. Yes.

16 Q. I see. Now, the 1993 article that you're referring  
17 to in the Pediatric Infectious Disease Journal -- do you know  
18 the name of the article?

19 A. I don't know the specific name.

20 Q. Do you have the article?

21 A. Yes.

22 Q. *So* ail I'm going to have to do is get the 1993  
23 Pediatric Infectious Disease Journal and it would be there;  
24 right?

25 A. Yes.

1 Q. And does Dr. Peltoia do and base his conclusions on  
2 your article?

3 A. No, he did independent studies of patients.

4 Q. Do you believe, Doctor, that his conclusion is the  
5 same as yours?

6 A. Yes.

7 Q. And what is or are the number of patients that are  
8 involved in the research he did?

9 A. The only number I remember is for the JAMA article,  
10 and I believe it was 325 children with bacterial meningitis.

11 Q. Of what type?

12 A. Various types, but Hemophilus influenzae was the  
13 most highly represented causative organism.

14 Q. And did and does the article, Doctor, tell you the  
15 timing of the intervention and the signs and symptoms of the  
16 patient at the intervention?

17 A. Well, that particular article looked at children wn  
18 were brought in to see physicians and the interval -- well, le  
19 me describe the article to you, and then you can, I think,  
20 probably see what kind of article it is.

21 Q. Please.

22 A. He took these 325 patients and divided them into  
23 three groups. In group 1, the children had an illness and wer  
24 seen by a physician, had meningitis diagnosed, and treatment  
25 was begun on that visit, and they were admitted to the

1 hospital.

2 Group 2 were children who were brought in because of  
3 an illness, were seen by a physician, were sent home without  
4 therapy, were brought in the next day, had meningitis diagnosed  
5 and were admitted to the hospital.

6 Group 3 were children who had an illness, were  
7 brought in, seen by a physician and sent home, came back two  
8 days later, had meningitis diagnosed, and were admitted. All  
9 patients were followed up for at least six months, and there  
10 was no difference in outcome

11 Q. Is this a retrospective or prospective study?

12 A. It was a prospective study in the sense that they  
13 gathered the information as they were going along.

14 Q. It wasn't a protocol that was designed, that some  
15 patients would be seen with the idea that we'll send them home  
16 knowing that there's a possibility of suspected meningitis and  
17 then have them come back the next day or two days later; is  
18 that right?

19 A. That's correct

20 Q. And the basis of that study and the 1992 review of  
21 the literature and yours, that's what you're basing your  
22 opinion on exclusively; correct?

23 A. There's the 1993 study in the Pediatric Infectious  
24 Disease Journal in which the duration of symptoms prior to the  
25 diagnosis of meningitis being made was looked at and no

1 correlation could be found with outcome. There's that study,  
2 the 1994 article, and then all of the literature which went  
3 into the 1992 article formed the basis of the opinion.

4 Q. Anything else?

5 A. No.

6 Q. Does that cover the bases for that?

7 A. That's correct.

8 Q. Doctor, is it your medical opinion that any of these  
9 articles -- let's start with yours, 1992 -- is a sufficient  
10 scientific basis, then, to say that you can apply to this given  
11 patient whether the drug given earlier in his specific case  
12 would have made a difference?

13 A. Yes.

14 Q. And tell me the basis for that.

15 A. Because there is no other basis for expressing a  
16 conclusion.

17 Q. There isn't?

18 A. No.

19 Q. I see. With regard, Doctor, to your statement that  
20 there is no other basis for a conclusion, have you read the  
21 depositions of all of the experts in the plaintiffs' behalf in  
22 this case?

23 A. I think I listed the depositions that I did read

24 Q. Did Dr. Livingston give an opinion contrary to what  
25 you have just said?

1 A. Yes.

2 Q. What is his basis?

3 A. I don't know.

4 Q. You didn't read what he said?

5 A. Well, I read the words that he said, but I don't  
6 know what the actual basis for that was.

7 Q. I see. Is he board certified by the same infectious  
8 disease board that you are?

9 A. I believe he is.

10 Q. Have you heard of Johns Hopkins, where he was?

11 A. Yes, I have.

12 Q. Is that considered a recognized institution?

13 A. It's a recognized institution.

14 Q. I see. Have you heard of Dr. Roger Barkin?

15 A. Yes, I have.

16 Q. You haven't read his deposition as an expert in this  
17 case, right?

18 A. No,

19 Q. Did you know he was an expert in this case?

20 A. No.

21 Q. Do you consider him to have any basis for opinions  
22 in the area of pediatrics, pediatric infectious disease or  
23 pediatric emergency medicine, Doctor?

24 MS. McDONALD: That's kind of a general  
25 question.

1 A. I would have to read his deposition, sir.

2 Q. I didn't ask him about his deposition opinion. Doe  
3 he have the basis to render opinions from your personal  
4 knowledge from his education and training on the subject of  
5 meningitis?

6 A. I can't answer it without knowing what the opinion  
7 is, and what the basis is that he states.

8 Q. I see. And that would hold true, I take it, for al  
9 of the experts on both sides in this case; right?

10 A. That's correct.

11 Q. would it be your opinion that anyone that disagrees  
12 with your conclusion would not scientifically be correct or  
13 medically correct?

14 A. I have two answers to that question.

15 Q. Please give them to me.

16 A. The first is, I would like to know what the basis  
17 is.

18 Q. Right.

19 A. And the second is, if the basis was insufficient, I  
20 would then have to conclude that they had no basis for opining  
21 what they just did.

22 Q. Now, Doctor, are you a statistician?

23 A. I'm not a professional statistician, no.

24 Q. Do you deal with statistics?

2 s A. Yes.

1 Q. Are you familiar with the Nye principle?

2 A. Excuse me?

3 Q. The Nye principle?

4 A. I don't know what that is.

5 Q. Are you familiar, Doctor, with the proposition that  
6 to correlate statistics, there's a plus-or-minus factor?

7 A. i don't understand what you just said

8 Q. In studies that are done epidemiologically, have you  
9 read any on meningitis?

10 A. Many.

11 Q. Have you read any on the effects of antibiotics on  
12 meningitis?

13 A. Many.

14 Q. Isn't it correct, Doctor, that you can never take an  
15 epidemiological study in a statistical body of information from  
16 any study, scientifically performed, and apply it to a given  
17 patient?

18 A. What do you mean, apply it to a given patient?

19 Q. The principle that the data comes to a conclusion  
20 can be applied to a given patient.

21 A. It depends what the purpose of the application is.

22 Q. I see. Is it your opinion, scientifically and  
23 statistically, that you can take scientific studies done on  
24 meningitis or drugs and apply it, take that data and apply it  
25 to a given patient?

1           A.       It depends for what purpose the information is being  
2 applied.

3           Q.       For the purpose of saying whether or not that  
4 particular drug would be effective on a given patient, if given  
5 sooner.

6           A.       For the purposes for which I am here, the answer is  
7 yes, I can make that application.

8           Q.       And tell me what you base that upon.

9           A.       Because to answer those questions, an expert must  
10 have some basis. Now, if you find the basis insufficient, of  
11 course, that's your right. But that is the only information  
12 that is existing on which any expert can actually formulate an  
13 opinion that, I believe, can be expressed to a reasonable  
14 degree of medical certainty. In other words, one has to use  
15 existing information which has been published and analyzed in  
16 order to express opinions.

17                   These opinions are not obtained by revelation. And  
18 therefore, I have done just that, using everything that I know  
19 of that actually exists that can reveal information on which a  
20 expert opinion can be used, and in my job as an expert witness  
21 I have applied it to this case.

22           Q.       So if I understand you, you can say and are saying  
23 that with reasonable scientific certainty and medical  
24 certainty, there is sufficient scientific basis that as to if  
25 this patient were to have been given the antibiotic sooner, you

1 can say absolutely it wouldn't have made a difference in the  
outcome; right?

3 A. What I can say is the following, which is what I did  
4 say. If antibiotics had been given earlier for a similar  
5 purpose, the outcome would have been the same to a reasonable  
6 degree of medical certainty.

7 Q. And Doctor, let's take that to its logical  
8 conclusion. If it had been given earlier, are you saying that  
9 it's the disease in and of itself that was going to cause this  
10 outcome, regardless of intervention?

11 A. No. What I'm saying is that the timing of  
12 antibiotics is not correlated with outcome. Therefore, if the  
13 antibiotics had been given earlier for a similar purpose, the  
14 outcome would have been the same. That's what I'm saying.

15 Q. So your statement that the timing of antibiotics --  
16 which you are being very exact on, and I thank you -- is not  
17 correlated with outcome? Correlation, Doctor, means it's  
18 statistical correlation; isn't that correct? Correlation has  
19 specific meaning, does it not, Doctor? I have read the  
20 literature, too. Doesn't correlation have a meaning?

21 A. Yes, it has a meaning, certainly, as I have used it

22 Q. Right. And a meaning as you wrote about it, doesn't  
23 it?

24 A. Yes, sir.

25 Q. Right. And it has a meaning in the scientific

I world, doesn't it?

2 A. Yes.

3 Q. And it has a meaning in the medical world, doesn't  
4 it?

5 A. Yes.

6 Q. What does your definition of correlation mean?

7 A. It means that the dependent factor, which is the  
8 outcome, does or does not have some relationship with the  
9 independent factor, which is the timing of the antibiotics. I  
10 there is a correlation, then the timing of the antibiotics  
11 would, in fact, influence outcome. If there is no correlation  
12 the opposite would be true.

13 Q. Now, Doctor, in total, do you know how many  
14 patients, in fact, were the subject of these articles that you  
15 are referring to that you did?

16 A. I believe the total number of patients in the 22  
17 studies I reviewed numbered over 4,000.

18 Q. 22 studies is what I heard you say; right?

19 A. Yes.

20 Q. **And** the number of patients was 4,000?

21 A. Over 4,000.

22 Q. Did you have the raw data, underlying data and  
23 charts, when you reviewed those studies?

24 A. No.

25 Q. Did you have the charts?

1 A. No.

2 Q. Did you have the histories?

3 A. No.

4 Q. Did you have the differential diagnoses or discharge  
5 summaries or admit summaries?

6 A. No.

7 Q. What did you have?

8 A. I had the articles.

9 Q. The articles. The conclusions?

10 A. No, the articles.

11 Q. What articles? What did the articles have? Did  
12 they include the charts or the data?

13 A. The articles were relatively heterogeneous, and I  
14 can't give you an exact answer regarding all 22 studies as to  
15 what each of the studies contained.

16 Q. Do you have those 22 articles?

17 A. I believe so.

18 Q. You have a list of those 22 articles?

19 A. They're in the bibliography of the article that I  
20 wrote.

21 Q. Now, I, Doctor, would like to ask you, as an expert  
22 in this case, when you are reading literature such as you did  
23 in this case, those 22 articles, if I were to go to each of  
24 those articles, I would see what, in fact, would be the  
25 category or type of patients that were involved, would I not?

1 I would get general information on them?

2 A. To the extent that the articles presented that  
3 information, you would be aware of it.

4 Q. And Doctor, you understand that the specific  
5 history, the timing of the intervention, the outcome, the  
6 follow-up -- did you have the follow-up of all these patients  
7 that were the subject of those 22 articles?

8 A. Again, the articles were uneven in terms of the  
9 degree of follow-up.

10 Q. Were they all in referee journals?

11 A. I believe they were.

12 Q. Now, Doctor, have you read any articles or  
13 literature that disagree with your position paper?

14 A. In the individual articles which I used as the basis  
15 for my analysis, most of them -- I take that back. Some of  
16 them had in the discussion section some conclusions that they  
17 drew, and in some cases a statement would be made regarding the  
18 beneficial effect of "early therapy" on outcome in meningitis.

19 Q. Have you done any Medline or Index Medicus search on  
20 the beneficial effect of antibiotics and outcome?

21 A. Not since I wrote that article, no.

22 Q. Did you do it before you wrote the article?

23 A. What I did was a selected search regarding certain  
24 subject matter within the context of bacterial meningitis  
25 utilizing all sources in order to try to find out extant

1 literature to be used for the analysis.

2 Q. Did you find any literature that disagrees -- that  
3 are published in referee journals -- with your conclusions?

4 A. I found no literature that actually analyzed  
5 information that was beyond their own study.

6 Q. Well, Doctor, one doesn't have to analyze  
7 information for there to be data concerning the conclusions  
8 insofar as whether drugs have an effect, if given timely. You  
9 don't have to make the analysis. The conclusions can be  
10 there. One doesn't have to survey the literature to, in fact,  
11 reach a conclusion, does he?

12 A. I think I have already answered your question, sir  
13 In some of the articles, in the discussions section, they would  
14 make a statement of the sort that I have already said. But I  
15 could find no literature in which anyone independently made an  
16 analysis of the totality of what is known about the subject and  
17 reached a different conclusion.

18 Q. Doctor, in the 100 or so cases that you have been  
19 involved with, how many times did you opine opinions on the  
20 subject of meningitis involving this very conclusion?

21 A. To whom?

22 Q. In the deposition or at trial or to a lawyer.

23 A. Oh. I'm sorry. I was confused. I thought you were  
24 dealing with cases that I'd taken care of with meningitis. I  
25 don't know the number of cases in which the subject matter of

1 the timing of antibiotics and outcome were the charge of my  
2 expert involvement, so it's hard for me to answer with an exact  
3 number.

4 Q. Doctor, let me see if I can refine this a little  
5 further. Is it your medical opinion that there is no case  
6 where the actual timing of the giving of the antibiotic will  
7 ever affect the outcome?

8 A. No. The best that I could -- the best conclusion  
9 that I could arrive at, given what is known about the topic, is  
10 that if a child has clinically overt meningitis, a child or  
11 adult, and if proper medical therapy, including antibiotics,  
12 are inexcusably denied that person, then the duration or the  
13 interval until proper therapy is instituted probably  
14 incrementally increases the risk of a bad outcome.

15 But to make that conclusion, there is very little  
16 clinical data that can support it. It's mainly based on what  
17 is known about the biology of the disease.

18 Q. When you say there's no clinical documentation to  
19 support it, is that because no one would ever do that, except  
20 on an accidental or negligent basis?

21 A. It's because the information is not there to be had  
22 for whatever reason.

23 Q. Well, let's look at the reasons the information  
24 wouldn't be there. Would you recommend or ever teach or would  
25 you ever do that, Doctor? Would you withhold intentionally

1 from a patient such as you described the giving of antibiotics  
2 to see whether or not it would affect the outcome?

3 A. No.

4 Q. Have you read of malpractice cases or have you, in  
5 morbidity and mortality reviews that you may have read about  
6 that were reviewed -- would you encourage that to be done?

7 A. No.

8 Q. Doctor, has it been your experience that anyone  
9 whoever did that accidentally or negligently would go about  
10 publishing that in the Literature for the benefit of the  
11 community of medicine at large?

12 A. Well, I don't know what people's motivations would  
13 be. Such information might be included in large series in  
14 which there was a certain anonymity. So the answer is the  
15 information could be published, but I just haven't been able to  
16 find any that has been published.

17 Q. Have you looked for it?

18 A. I looked very hard.

19 Q. Let me ask you this, Doctor. Do you think what you  
20 described -- let me state it differently. If in a given  
21 patient hypothetically with meningitis proper antibiotic  
22 therapy was withheld knowingly, in your opinion would that be  
23 ,malpractice?

24 A. Yes.

25 (A discussion was held off the record.)

1           Q.     If a doctor in the circumstances which reasonably  
2 well-qualified physicians said it should be given negligently  
3 withheld it, that would be malpractice, as well; correct?

4           A.     I think that's a tautology. You already said it's  
5 negligently withheld, so it is malpractice.

6           Q.     well, Doctor, what I'm trying to establish is, if a  
7 doctor intentionally did that or negligently did that, in both  
8 instances it would be malpractice; correct?

9           A.     Yes.

10          Q.     Now, you said that the time interval in which it wa  
11 withheld in the circumstances where it should be given in your  
12 opinion would increase the risk of a bad outcome. Have I used  
13 your words correctly?

14                 MS. McDONALD: I think he said in the cases of  
15 clinically overt meningitis.

16                 MR. GOLDBERG: I'm using --

17          Q.     Are those the words you used, Doctor? The part I'm  
18 calling your attention to -- the risk of a child having a bad  
19 outcome would be increased, is what I'm calling your attention  
20 to.

21          A.     Let me just restate it.

22          Q.     Fine.

23          A.     In clinically overt meningitis, if proper therapy  
24 including antibiotics was inappropriately withheld, the risk o  
25 a bad outcome would be incrementally increased. That's the

1 best conclusion one can arrive at, given what is available on  
2 which to draw such conclusions, which is primarily a sense of  
3 the biological underpinnings of damage in meningitis, not  
4 clinical information to be used for analysis. *And* there's no  
5 good animal data to be used, either, and so you're left with  
6 what you have got

7 Q. Staying with that statement that you just made,  
8 clinical overt meningitis, proper therapy, and antibiotic  
9 therapy is withheld, the risk of a bad outcome would be  
10 incrementally increased. That's the statement I'm going to be  
11 asking you some questions about. Are you with me? I don't  
12 want to keep repeating the statement that I'm referring to, so  
13 you'll keep that in mind for a moment?

14 A. Sure.

15 Q. Okay. Clinically overt meningitis in that  
16 statement -- what do you mean by it?

17 A. I think I have already answered that question.

18 MS. McDONALD: We talked about that before  
19 lunch.

20 Q. Is it the same criteria that you referred to earlier  
21 in this deposition?

22 A. That's correct.

23 Q. So when any of those criteria are met, that's what  
24 you mean by it; right?

25 A. I tried to define as best I could what clinically

1 overt meningitis was, and it's that same definition that I'm  
2 using in the statement I just made.

3 Q. I'm trying to use -- what you defined earlier is  
4 what applies in this sentence; right?

5 A. Correct.

6 Q. Okay. Proper therapy and antibiotics. Is there a  
7 therapy other than antibiotics which is proper for meningitis?

8 A. There are other aspects of therapy that do not  
9 include antibiotics, yes.

10 Q. What are they?

11 A. Well, there is proper attention to vital functions.  
12 There is fluid and electrolyte balance. There is the use of  
13 corticosteroids in certain specific instances. There may be  
14 the appropriate treatment of increased intracranial pressure  
15 that needs to be instituted. It's the totality of the care of  
16 the sick child that I'm referring to, a failure of which can  
17 also cause grievous damage.

18 Q. Added effects?

19 A. If, in fact, it is done inappropriately.

20 Q. So the sequelae that will flow from the effect of  
21 the disease, those sequelae, if not treated, may have an  
22 additive effect, is that what you're saying?

23 A. Independently, they can cause damage if not treated  
24 in a rational manner.

25 Q. Now, you said at the end, withheld, proper therapy,

1 risk of bad outcome would be increased incrementally. Bad  
2 outcome, Doctor. When you use that phrase, you're talking  
3 about central nervous system deficit or death; right?

4 A. Yes.

5 Q. Would you agree that in those patients in whom death  
6 occurs, that that compiles the greater or greatest bulk of  
7 patients that are involved with the studies that you were  
8 talking about?

9 A. If I could respond to that just by redefining your  
10 former question, because I wanted to be accurate in that. The  
11 incremental risk of a bad outcome probably does not include  
12 death, and I'll tell you the reason why. Nowadays, most death  
13 in meningitis occurs in the context of a fulminant meningitis,  
14 which is insensitive to the timing of antibiotics. The kind of  
15 death that occurred in the old days, from untreated meningitis,  
16 is not seen now. So I would say that the increased risk of a  
17 bad outcome that I referred to is primarily neurological  
18 damage.

19 Q. Central nervous system?

20 A. Correct.

21 Q. But getting back to my question, would you agree  
22 that the 22 articles and 4,000 patients that were the subject  
23 of the articles you reviewed insofar as meningitis, most of  
24 those patients died?

25 A. No. That's not true.

1 Q. No? What percentage died, Doctor?

2 A. I don't know, because not all of the articles  
3 reported information on which a mortality rate could be  
4 calculated.

5 Q. would you define morbidity in that context?

6 A. I never actually added it up. What I did do was to  
7 look at those articles in which information was presented  
8 regarding the duration of symptoms, the timing Of the  
9 antibiotics, and the outcome, in which the outcome was  
10 neurological damage or intactness, as the case may be. But I  
11 did not calculate a morbidity rate.

12 Q. Morbidity, Doctor, is a pendulum of mild to severe?  
13 You use that phrase, or is there some specific phrase you use,  
14 or words?

15 A. Well, in the context of the article, I used whatever  
16 information was presented as the authors gave it.

17 Q. Isn't it true, Doctor, that pneumococcus,  
18 pneumococcal meningitis has more reported cases of bad outcome  
19 and death than Hemophilus influenzae?

20 A. Yes.

21 Q. Do you know what percentage in the 22 articles, the  
22 4,000 patients, were pneumococcal and what percentage were  
23 Hemophilus influenzae?

24 A. Again, I didn't calculate individual organism rates  
25 for the total 4,000 cases. But I would guess that Hemophilus

1       influenzae constituted greater than 50 percent of the total  
2       number of cases.

3           Q.       How much greater?

4           A.       I don't know exactly. Just probably two-thirds or  
5       three-quarters of the cases, but more like two-thirds would be  
6       an accurate estimation.

7           Q.       With regard, Doctor, to hearing loss, is that a type  
8       of central nervous system deficit reported in the articles you  
9       did?

10          A.       Yes.

11          Q.       Review?

12          A.       Yes

13          Q.       Right?

14          A.       Yes.

15          Q.       And isn't it true that in those studies and the  
16       articles you referred to, that hearing loss is a type of  
17       deficit which specifically is referred to as the timing of  
18       intervention not having an effect on outcome?

19          A.       That is true.

20          Q.       Can you cite me any article, other than as to  
21       hearing loss specifically, that says central motor system  
22       deficit, brain damage, cortical things of that type, that that  
23       has been the subject of any article or study that says that the  
24       giving timely of the antibiotic doesn't prevent or reduce the  
25       morbidity from that type of finding?

1           A.       Well, the answer is, the two articles from the  
2 journals I named as appearing after my articles deals with that  
3 issue, and in my own article there are specific citations in  
4 the bibliography for studies in which that information is in  
5 fact presented. I don't have them memorized.

6           Q.       And Doctor, what were the number of patients  
7 involved in that?

8           A.       I'd have to go back to my article and look.

9           Q.       When we resume, I'm going to ask you before that to  
10 look at that article. But let's carry forward on what I was  
11 asking.

12                   Give me, please, your explanation medically and  
13 scientifically of why, and your -- let me restate that. Give  
14 me the basis for your opinion or your observation from what  
15 others have written and you analyzed, both of those, your own  
16 experience and what you analyzed, why the risk of bad outcome  
17 would be increased, incrementally increased, with the  
18 withholding of antibiotics. What are the reasons for that?

19                   MS. McDONALD: In patients with clinically overt  
20 meningitis.

21           Q.       In that statement.

22           A.       The reason I concluded that -- and again, it's a  
23 conclusion not drawn from clinical information -- is that the  
24 pathology that results in neurological damage has to do with  
25 blood vessel injury and brain ischemia, and there **is** some

1 information which suggests that once meningitis becomes  
2 clinically overt, that the duration of the presence of  
3 inflammation, which is the basis of the overtness of the  
4 meningitis, will be prolonged, based on the timing of  
5 antibiotics. And therefore, if the inflammation is prolonged,  
6 the risk to blood vessels of being injured, thereby causing  
7 brain ischemia, will be increased.

8 Q. So that it is desirable to reduce the duration of  
9 that inflammation.

10 A. It is desirable to reduce the duration of the  
11 inflammation, and the dosing of antibiotics may be able to do  
12 that over many days. The trouble with that statement, which is  
13 set forth in the article, is that the duration of inflammation  
14 is very insensitive to the timing of antibiotics. And  
15 therefore, what I have just told you may be wrong, and it may  
16 be that the timing of antibiotics even in clinically overt  
17 meningitis may not have a major influence in outcome, either.  
18 But there's just nothing to gainsay the biological sense that  
19 that is the best conclusion to be held at this particular  
20 time.

21 Q. Have you given me the bases, all the bases, for that  
22 statement?

23 A. Yes.

24 Q. Is there any article, journal, text or literature  
25 you can cite me to, to support that statement?

1 A. Again, it's all included in my article.

2 Q. Your one 1992 article is what you're referring to?

3 A. Yes, and the citations therein.

4 Q. Nothing else was -- we now exhausted your opinions  
5 on that?

6 A. Yes.

7 Q. Have we exhausted your opinions and your bases on  
8 the issue dealing with the question you were asked and  
9 initially looked at as to whether the giving of an antibiotic  
10 earlier to this patient would have any effect on the outcome?

11 A. Yes.

12 Q. So you have given me your opinions and the bases for  
13 them; is that right?

14 A. I believe so yes.

15 Q. The reason I'm asking, Doctor, not to be picayune,  
16 is I don't want to hear new bases or opinion on that point at  
17 trial. I have a right to rely upon it, and if you say yes, I'  
18 going to go on to a different area.

19 A. Yes.

20 Q. Now, isn't it true, Doctor, that in this patient,  
21 meningitis on a probability basis was probably present on the  
22 4th?

23 A. Well, I'll try and answer that the best I can,  
24 understanding that no one can know when meningitis begins, even  
25 as I define the beginning of meningitis.

1 Q. I realize chat you have a certain definition and  
2 defining methodology and I'm saying, is it your opinion on a  
3 probability basis that on the 4th he had meningitis?

4 A. It is my opinion that he did not have clinically  
5 overt meningitis on the 4th, and that's all that can be known.

6 Q. Before it becomes clinically overt, can a patient  
7 still have meningitis, nevertheless, not using your definition  
8 of clinically overt or your criteria?

9 A. Well, that's a little hard to say, because of the  
10 fact that there's no information that can be derived from the  
11 human studies on which to answer that.

12 Q. Well, let me try it this way with you, Doctor. It'  
13 kind of like what comes first, the chicken or the egg. You  
14 have said that the only way you can be absolutely certain of  
15 meningitis in a patient is lumbar puncture or a certain  
16 clinical picture which you defined and described for me. You  
17 remember those two instances?

18 A. Yes.

19 Q. In the clinical picture you described for me with  
20 the bulging fontanelles, the seizures and the arching and ail,  
21 that patient, if a lumbar puncture was done, would clearly hav  
22 meningitis; right?

23 A. Yes.

24 Q. And would have clinically overt meningitis; right?

25 A. Yes.

1 Q. And obviously in the patient that needed a lumbar  
2 puncture, if the tap shows the organism is present in the  
3 spinal fluid, that patient has it; right?

4 A. Yes.

5 Q Taking those two instances, isn't it correct that a  
6 patient, before the clinical picture reaches what you used as  
7 the criteria as present, can also, if a lumbar puncture had  
8 been done, have the organisms in the cerebral spinal fluid but  
9 not yet have all the manifestations clinically?

10 A. It is true that a person can have bacteria in the  
11 cerebral spinal fluid but not manifest clinically apparent  
12 meningitis

13 Q. And as a matter of fact, I think if I'm not  
14 mistaken, in the articles you're referring to, isn't it  
15 reported -- or some articles that you have read -- that by  
16 accident where a lumbar puncture has been done, okay, that in  
17 fact the organism has been found and the patient didn't have a  
18 clinical picture associated generally with meningitis?

19 A. That is true. But that's not necessarily showing  
20 that the patient had meningitis. Only that there were bacteria  
21 in the spinal fluid.

22 Q. Well, Doctor, if the bacteria is in the spinal fluid  
23 you also need the inflammation; right?

24 A. That's correct.

25 Q. How can you determine if the inflammation is

1 present?

2 A. By way of a spinal tap.

3 Q. If the organism is present, then you have to deduce  
4 or you have to assume there's inflammation; correct?

5 A. No. The spinal fluid would show if there's  
6 inflammation.

7 Q. Then let's go back to what I just said a moment  
8 ago. I have read literature which I have in my possession that  
9 clearly shows there are instances where a lumbar puncture was  
10 done in patients that, in fact, those patients didn't have  
11 clinical signs and symptoms generally associated with  
12 meningitis, and yet the diagnosis was made in those instances.  
13 Now, is that something that you have ever read about?

14 A. Well, it's going to be a compound answer to your  
15 question.

16 Q. First of all, have you ever read about that?

17 A. I'm trying to answer your question.

18 Q. Okay.

19 A. There are reported cases in which individuals have  
20 had spinal taps done not because there was suspected meningiti  
21 at all, but they were being done for other purposes.

22 Q. Right.

23 A. And bacteria were found in the spinal fluid, yes.  
24 There are reported cases there. I haven't finished my compound  
25 answer.

1 Q. I'm sorry.

2 A. I'm unaware of literature which shows that  
3 individuals have had spinal taps done for purposes other than  
4 to exclude the diagnosis of meningitis in which bacteria and  
5 pus were both found in the spinal fluid and it came as a big  
6 surprise

7 Q. Have you read literature, Doctor, where patients on  
8 an accidental basis where lumbar punctures were done that  
9 bacteria and pus were found in patients that didn't clinically  
10 present with the classical signs and symptoms of meningitis?

11 A. Well, now you have added the classical signs and  
12 symptoms of meningitis.

13 Q. Right. That's right. I did.

14 A. Well, there was some reason for doing the spinal  
15 tap. If the spinal tap was done to exclude the diagnosis of  
16 meningitis, let's say in a "sepsis" workup, then there was the  
17 possibility of meningitis in the mind of the physician at the  
18 time. What I was referring to was literature in which the  
19 spinal tap was done not for the purposes of excluding  
20 meningitis, but to obtain spinal fluid for some other purpose  
21 entirely, and big surprise, the person had pus and bacteria.  
22 I'm unaware of those studies.

23 Q. You are unaware of those? Okay. Doctor, so as I  
24 understand you, you have never read an article in any of the  
25 years you have been reading in the literature where

1 accidentally cerebral spinal fluid was obtained from a lumbar  
2 puncture in which they found pus and bacteria in a patient that  
3 did not, in fact, manifest the classical signs of meningitis?  
4 You have never read that; right?

5 A. That's not at all what I said.

6 Q. Well, have you read such articles?

7 A. Oh, yes, but you u'nderstand that's not what I said  
8 in my prior answers.

9 Q. I understand what you said in your prior answer, and  
10 I'm going back to my question. Doctor, isn't it also true that  
11 in sepsis workup cases, they have found in the spinal fluid,  
12 cerebral spinal fluid, bacteria and pus in patients that hadn't  
13 gone on to have what you are describing as a clinical avert  
14 meningitis.

15 A. If you use my definition of clinically overt  
16 meningitis as fever and an inappropriately altered level of  
17 consciousness of some sort, which in the very young child can  
18 also be termed fever and a "toxic child," I believe that is a  
19 rather --

20 Q. It would subsume it?

21 A. Yes, rare or nonexistent event.

22 Q. Doctor, what you're saying is, by your definition,  
23 you subsume any patient in whom a sepsis workup in a child of  
24 that age would be done; right? It's subsumed in that?

25 A. If it's articulated that the child has the

1 inappropriately altered level of consciousness, looks toxic, or  
2 for some other reason, that is the definition of clinically  
3 overt meningitis, and a spinal tap should be done in those  
4 instances.

5 Q. Now, Doctor, going back to what I have posited, hav  
6 you ever read a study in which neurologists in the process of  
7 doing a lumbar puncture unrelated to a septic workup in a  
8 patient whom they were concerned about contents of the cerebra  
9 spinal fluid did a tap and found chat the patient had  
10 meningitis that wasn't diagnosed?

11 A. Well, I have read an awful lot of literature, and i  
12 doesn't ring any bells, but one of the reasons it may not ring  
13 any bells is because I don't know the reason for the spinal ta  
14 being done.

15 Q. And Doctor, what I'm trying to find out is -- let's  
16 try it this way. Is it your opinion that the classic  
17 definition of meningitis that you use, one of the two, one of  
18 the two, a lumbar puncture being done where there is pus and  
19 bacteria, okay? Where that is found. Meningitis is where you  
20 find bacteria and pus in the cerebral spinal fluid; right?

21 A. That's my definition of -- for want of a better  
22 phrase -- biological meningitis.

23 Q. I'll be glad to use and separate them, Doctor, so  
24 now I'm going to connect it in one second with my next -- give  
25 me just two more bridges to build. There is a biological

1 meningitis; correct?

2 A. These things are arbitrary, but I have explained to  
3 you what I considered to be biological meningitis.

4 Q. Doctor, I'm trying to work with your language and  
5 your concepts, rather than what as -- a myriad of other  
6 opinions I have in literature. But I'm trying to work with you  
7 to take it to its logical or illogical conclusion, and I won't  
8 make that decision. The jury will. But there is, by your  
9 definition, a biological meningitis; correct?

10 A. Yes.

11 Q. There's a clinical meningitis, a clinically overt  
12 meningitis; correct?

13 A. Yes.

14 Q. All right. Now, this is the question that -- I'm  
15 putting these two together. Which comes first? Or are they  
16 always present at the same time?

17 A. There's no human data on which to make that  
18 pronouncement.

19 Q. So as we sit here now, you cannot rule out a  
20 biological meningitis as to whether it can be present before  
21 there's the clinically overt meningitis? You can't say it is  
22 or isn't, with scientific or reasonable certainty?

23 A. I can't say, because there's lack of human data.

24 Q. That's all I'm asking. Doctor, I'm not trying to  
25 argue with you. Because of the lack of human data, you can't

1 say that it can be present without clinically overt meningitis;  
2 right?

3 A. That's correct.

4 Q. And can you say the reverse of that? In all  
5 clinically overt meningitis will there at the same time be a  
6 biological meningitis present?

7 A. Again using my definitions of clinically overt, et  
8 cetera, et cetera. That's correct.

9 Q. Do you have any opinion as to it's likely or  
10 probable as to which of the two come first, or do they come  
11 simultaneously?

12 A. I think the best I can say is that it would be very  
13 unlikely to have biological meningitis for any prolonged perio  
14 of time without clinical findings of meningitis.

15 Q. Can you define how long a prolonged period would  
16 be? Are we talking hours or days or minutes?

17 A. Days.

18 Q. Days. Okay. Is it your opinion that on the 4th of  
19 the month in question, this patient had biological meningitis?  
20 Let me try it this way. On the 4th, 5th, 6th, or 7th, did this  
21 patient on any of those days, in your opinion, have biological  
22 meningitis?

23 A. All I can say for sure is the child didn't have  
24 clinical meningitis.

25 Q. No. I'm going to get to clinical. Let's keep them

1 separate.

2 (A discussion was held off the record.)

3 Q. It's easier for you to do the clinically overt  
4 first, right?

5 A. That's right.

6 Q. Let's do clinically overt. I'll accept that.  
7 Doctor, on the 4th, 5th, 6th, or 7th, did this child in your  
8 opinion, have clinically overt meningitis?

9 A. I do not believe so.

10 Q. On the 4th, nor the 5th, nor the 6th, nor the 7th?

11 A. On the 7th, yes.

12 Q. So the 7th, yes. The 6th, 5th, 4th, in your  
13 opinion, no?

14 A. Correct.

15 Q. Is it possible he had it on the 6th?

16 A. Well, again, I tried to explain the limitations of  
17 the information on which to make these kinds of judgments. Bu  
18 I'm stuck with what I have. And based on what is available  
19 here, I do not find evidence that there was clinical  
20 meningitis.

21 Q. Because there's nothing written in the chart;  
22 right?

23 MS. McDONALD: Things weren't in the chart --

24 Q The clinical evidence that you were looking for,  
25 right?

1 A. There was nothing to suggest that it's present.

2 Q. So it's not possible, in your opinion, it was  
3 present on the 6th?

4 A. No, that's not what I said about possibilities.  
5 What I'm saying is that based on what I have here, my best  
6 judgment, based on a more-likely-than-not standard, is that the  
7 child did not have clinical meningitis on the 4th, the 5th, or  
8 the 6th.

9 Q. Now, I'm asking you on the 6th, which is the day  
10 before the 7th, is it possible he had it, going back in time  
11 one day.

12 A. I understand. I don't want to retreat into  
13 anything, the is-it-possible sort of business. I can't express  
14 an opinion about possibility, but I can about probability.

15 Q. Well, Doctor, in case you don't know this, in  
16 Illinois, experts are permitted and are asked whether something  
17 is possible, and the law now permits it. So even though it's  
18 historically that maybe what you don't want to retreat into, I  
19 have to know whether it's possible.

20 MS. McDONALD: Based on what's in the chart, or  
21 based on what could possibly have existed?

22 Q. Based on what you now know, irrespective of what's  
23 in the chart. Is it possible?

24 A. Again then if it's -- this is for my own  
25 clarification. If there now is the legal opportunity to

1 express an opinion based on possibility, I would need some  
2 guidance as to what the legal definition of possibility is.

3 Q. Possibility is the same standard based on reasonable  
4 degree of medical and -- medical possibility, as long as it's  
5 based on reasonable medicine. Is it possible?

6 A. That didn't help me, because probability I know,  
7 which is more likely than not. That one I can understand what  
8 the standard is. But I don't know what possibility is.

9 Q. That's fine. That's fine. Now, Doctor, let's play  
10 the next game. Next bridge. You say it's absolute and certain  
11 that he has it on the 7th, right? Isn't that because of the  
12 lumbar puncture results?

13 A. Well, we know that he has meningitis because of the  
14 lumbar puncture; that's correct.

15 Q. Isn't that the reason in the chart?

16 A. No. Because the physicians at the time,  
17 particularly Dr. Levin, examined the child and felt that the  
18 child had a clinical appearance which would warrant the spinal  
19 tap which was, in fact, performed.

20 MS. McDONALD: Do you mean Dr. Levin or Dr. Suhs?

21 A. Whoever the attending physician was. Whoever that  
22 doctor was who initiated or asked the spinal tap to be done.  
23 All that's written down by that doctor is "fontanelle slightly  
24 tense," so I don't know what the totality of the findings were  
25 that led the doctor to ask the spinal tap be performed.

1 Something was there.

2 Q. That's precisely my point.

3 A. I haven't finished. So all I can do at this remove  
4 was to say that the doctors -- at the time the child had  
5 clinically overt meningitis which warranted a spinal tap.

6 Q. A presumption you have made, right?

7 A. A presumption I have made? It's my best reading of  
8 the records.

9 Q. Does the doctor write -- whoever it is -- she says  
10 it's Dr. Suhs, you thought it was Levin -- doesn't make a  
11

12

13

14

15

16

17

18 apparent meningitis part of it is fontanelles slightly tense.

19

20

21

22

23

24

25

1 clinically overt meningitis. And given that documentation, a  
2 spinal tap should have been performed.

3 Q. Now, Doctor, you don't know if that same doctor had  
4 come eight hours earlier, whether he would have found a  
5 slightly tense fontanelle, do you?

6 A. I don't know that.

7 Q. And you don't know if eight hours earlier the same  
8 spiking fever or a spiking fever was still present, or do you?

9 A. We do have some information about the fever, as you  
10 know.

11 Q. Yes, we do. We have a lot of information about the  
12 fever.

13 A. And the child did have daily fevers, which occurred  
14 prior to the spinal tap being performed.

15 Q. So that as you sit here now, if I were to  
16 hypothetically ask you to assume that if a doctor had come  
17 eight hours earlier and there was a fontanelle that was  
18 slightly tense in the same way, and the spiking fever was  
19 present, is there any way you can rule out that the doctor  
20 would have clinically deduced that a spinal tap at that point  
21 in time should have been done?

22 A. These are all hypotheticals. It's very hard for me  
23 to comment, because I don't know what he would have found and  
24 what he would have done.

25 Q. But I'm asking you, if you assume it, you could give

1 an answer to the question; right?

2 MS. McDONALD: If he assumes what?

3 Q You understand my question, Doctor?

4 A Not entirely. Could you restate it?

5 Q. Whenever it would have been, as I understand what  
6 you're basing your opinion on, regarding the clinically overt  
7 meningitis being present, whenever any physician would have, in  
8 fact, clinically believed it to be present, and there would be  
9 a spiking fever or fontanelle slightly tense, present, that's  
10 when a spinal tap should be done.

11 A. No. That's not what I'm saying.

12 Q. It is not?

13 A. No.

14 Q. Well, let me ask you another hypothetical.

15 A. Would you like to know what I am saying?

16 Q. I'll come back to that. Isn't it correct, Doctor,  
17 in your opinion, that if any physician had come along on the  
18 4th, 5th, 6th or 7th and felt or documented in this chart that  
19 they believed there were indications for the doing of a lumbar  
20 puncture, if that were to have been written on whatever date,  
21 4th, 5th, 6th, 7th, hypothetically, you would then have assumed  
22 there was clinically overt meningitis?

23 A. I don't know if I can actually answer that in  
24 exactly that way, because you'll have to tell me what was  
25 written in the chart at the same time.

1 Q. Assume everything written in the chart except that  
2 one factor.

3 MS. McDONALD: It said spinal tap to be done?

4 A. If the same note on the 7th had been written on the  
5 6th, and a spinal tap had been performed on the 6th, the resul  
6 of which I don't know, my conclusion would be that the child  
7 had clinically overt meningitis at that time, based on the onl  
8 extant recording of the findings and the thought processes of  
9 the physician at the time.

10 MS. McDONALD: I just want to clarify.

11 MR. GOLDBERG: This is not a time to clarify.  
12 You will have your chance.

13 MS. McDONALD: Barry, come on.

14 MR. GOLDBERG: You'll have your chance in a  
15 moment.

16 MS. McDONALD: I can speak and I want to.

17 MR. GOLDBERG: We're not going to clarify at thi  
18 time.

19 A. May I go to the bathroom?

20 Q. You may go to the bathroom, by all means, Doctor.

21 MR. GOLDBERG: We're not going to have you  
22 clarify while I'm questioning the witness any more than I  
23 didn't clarify when you were questioning. I made objections.

24 (A discussion was held off the record.)

25 (A recess was taken.)

1 Q. Doctor, on the record, the doctor has to leave at  
2 4:00. It's 3:20 what?

3 A. Almost 3:30 now

4 Q. All right. Just getting back to where I was,  
5 Doctor, here's what I'm just trying to find out. Let me see if  
5 I can make it simple.

7 Clinically overt meningitis as you have defined it  
8 and described it repeatedly in this deposition -- if in this  
9 chart at any point in time a physician, any of these  
10 physicians, had written that they believe a spinal tap was  
11 indicated, does that mean to you that there was clinically  
12 overt meningitis present?

13 A. It means to me that they thought it was present.  
14 And if I could just finish the sentence.

15 Q. Forgive me.

16 A. If there is present more of a description of their  
17 findings, I would be able to be in a better position to  
18 independently assess whether clinically overt meningitis was  
19 indeed present, but certainly in the absence of that, I would  
20 have to assume that they thought it was present.

21 Q. And if they thought it was present and ordered a  
22 spinal tap, then you would then at least say there's a  
23 presumption clinically overt meningitis is present?

24 A. That's correct.

25 Q. Taking that same concept that we're talking about,

1 you mentioned that if the criteria were written in the chart,  
2 separate from the doctor saying that he was ordering or she was  
3 ordering a lumbar puncture, if you saw evidence of fever and a  
4 level of -- I'm trying to use the words that you used --

5 A. Meaningfully altered level of consciousness without  
6 another explanation.

7 Q. Meaningfully altered level of consciousness without  
8 other explanation. Plus fever, right?

9 A. Usually, yes.

10 Q. If in the chart there was data which would fall  
11 within the definition you have given of those two things, you  
12 would then be able to make your own independent assessment of  
13 whether there was clinically overt meningitis; right?

14 A. Yes. By the way, there can be other things seen  
15 than this. For example, stiffening, bulging fontanelles.

16 Q. You went through those. I remember the very most --  
17 the most -- the one that you had the seizures, the arching,  
18 that whole thing. I remember that, as well. With regard,  
19 Doctor, to a patient that you have treated -- have you ever ha  
20 a suspicion or index of suspicion of meningitis?

21 A. I'm sorry? What's the question?

22 Q. Have you ever used or made the -- have you ever use  
23 this expression, index of suspicion?

24 A. I probably have. It's kind of a catch phrase that I  
25 think was first used in a case book, really, that was published

1 in Internal Medicine, index of suspicion, some years ago, and  
2 it's a phrase which sort of caught an, and I think it had its  
3 day. I don't hear much of it these days.

4 Q. Do you use the phrase?

5 A. Hardly ever.

6 Q. Do you know what it meant when it was used?

7 A. Yes.

8 Q. What does it mean?

9 A. It means that you thought the condition was there.

10 Q. Is that the same or different than clinical y  
11 overt? Here's what I want to know. Are they the same? Is on  
12 before the other, or one after the other?

13 A I think probably when one boils each of them down,  
14 we're talking about the same thing.

15 Q. I see. So they're simultaneous. When the data or  
16 the criteria are present, those people that would call that an  
17 index of suspicion -- you believe it's clinically overt and  
18 both of them should act accordingly?

19 A. You know, I can't really speak for other people in  
20 the way they use a phrase, as I think you have already  
21 learned. I would assume that they're the same thing. Now, it  
22 may be that other people would have a different definition of  
23 index of suspicion, and therefore, I prefer not to be held for  
24 their concepts when they use their words.

25 Q. Well, those people I'm referring to, Doctor, are

1 people like Dr. Klein, like Feigin and Cherry, like Nelson,  
2 like -- I could go through about 30 sources I have with me that  
3 use that exact phrase, "clinical index of suspicion" in the  
4 chapters that I wrote, I have got other 200 articles that use  
5 the clinical phrase, the phrase index of suspicion when dealing  
6 with meningitis, of various types. So I'm just wondering, in  
7 your reading of the literature, did you ever see those people  
8 or articles that used that, regularly?

9 A. Again, I have seen that phrase used. Usually  
10 phrases are best interpreted in light of the context of the  
11 phrase itself.

12 Q. Have you seen written "clinically overt meningitis"  
13 written in any articles?

14 A. In a couple of articles.

15 Q. Whose?

16 A. Mine, certainly.

17 Q. Yes, I know yours. I know that.

18 A. In the 1994 JAMA article I believe it was used. It  
19 may be that I originated the phrase. I honestly don't know.

20 Q. Doctor, on the 4th, 5th, 6th or 7th, is it your  
21 opinion this patient had a septic joint? By the way, we used  
22 septic arthritis, we used all three of the pyogenic -- can we,  
23 when I refer to it -- if I say septic, will you include all  
24 three of those?

25 A. Certainly.

1 arrival of pus cells, swelling, pain.

2 The other is a reactive joint in which you do not  
3 have actively multiplying bacteria, but what you have is  
4 antigen and antibody complexes in the joint which cause joint  
5 inflammation. But in that instance, there's not an infection  
6 to be cured. It's a reaction to the joint because of the  
7 presence of these other materials, and I don't know what he  
8 had. He had arthritis. I think that's quite clear. But I  
9 don't know whether it was the infectious kind or the reactive  
10 kind.

11 Q. Both of them, infectious and reactive, are abnormal  
12 in a six-month old; correct?

13 A. Yes. I mean, you --

14 Q. You feel comfortable in saying that?

15 A. Yes.

16 Q. Same answers if I were to ask you the 6th, 5th,  
17 4th? 6th, 5th -- excuse me, 6th and 5th.

18 A. The 4th it doesn't seem to be anything wrong with  
19 the hand.

20 Q. I misspoke.

21 A. **Yes**, I think so.

22 Q. I, Doctor, am trying to at least button down, I  
23 hope, one area completely, because I haven't touched upon  
24 several other things, otitis media and all that other stuff,  
25 but I'm trying at least to button down there this.

1 On your CV in the articles, Doctor, 13 and 14 -- I  
2 had a copy, gave you back the original. I had a copy made for  
3 me,

4 (A discussion was held off the record.)

5 Q. Number 14, "Duration of Symptoms and Outcome of  
6 Bacterial Meningitis," that's the 1992 paper you're referring  
7 to?

8 A. Right.

9 Q. And 17, "The Timing of Antimicrobial Outcome in  
10 Serious Bacterial Infection," is that an overlapping of the  
11 information in 14, or --

12 A. Some of the information in 14 is presented in that.  
13 But it extends the subject into other areas not covered by the  
14 one on 14.

15 Q. Does it repeat, in other words, what's on meningiti  
16 in 14?

17 A. Yes.

18 Q. So there's nothing new or different, is what I'm  
19 saying, on meningitis.

20 A. No, I believe I included in reference to the 1993  
21 article that I referred to that had not been published at the  
22 time of my article.

23 Q. But substantively, it may refer in the bibliography  
24 but there's no new substantive data?

25 A. Just the validating effect of that subsequent study

1 Q. I'm going to go into great depth on this, but I'm  
2 going to ask you one simple direct question. If it can be  
3 answered simply, fine. If it can't, that's fine. Is it your  
4 opinion that this child did have otitis media?

5 A. More likely than not, he did not.

6 Q. More likely than not, he did not? Okay.

7 A. In retrospect.

8 Q. And when you say more likely than not he did not,  
9 that's on a probability basis you're saying it; right?

10 A. Correct.

11 Q. And that, again, is because I take it there's not  
12 sufficient data to be certain, but based upon what you see,  
13 it's what you think is likely; right?

14 A. well, I'll tell you what it's based on. Maybe  
15 that's a better way of answering the question.

16 Q. What is it based on?

17 A. It's based on a description of the tympanic membran  
18 as contained in the notes, and it's based on the natural  
19 history as contained in the record.

20 Q. The description of the tympanic membrane that you'r  
21 referring to -- is it a specific date?

22 A. There's a description of the tympanic membrane on  
the 27th, the admission history and physical examination. And  
there's a description on the 27th -- the same doctor -- maybe  
25 he's just describing things twice on the 27th. Let's see.

1 There's a description again on the 28th, a description on the  
2 29th, all by Dr. Dellatorre. There's a description on the 31s  
3 by Dr. Zarif. And that's the end of the descriptions on the  
4 child until the 3rd, in which the tympanic membrane is normal.  
5 I presume that's what "clear" means by Dr. Zarif.

6 Q. I'm going to come back to that at great length next  
7 time. But in the same context, Doctor, is there a clinical  
8 association between otitis media untreated and meningitis, or  
9 correlation?

10 A. The answer is no, if one is dealing with  
11 hematogenous bacterial meningitis such as we are here.

12 Q. Did you note and look at the charts of Rush Pres St  
13 Luke?

14 A. Yes.

15 Q. I looked at Exhibit 7 and scanned it just for a  
16 brief moment. Excuse me, not 7. Exhibit 4. I looked at that  
17 Doctor, and noted the last several pages. On page, 13, 14, or  
18 15, do I find anyplace where you made any reference to what wa  
19 the examination by the physicians at Rush Pres St. Luke about  
20 the ear? There's a total void of that. Is that intentional o  
21 just an oversight?

22 A. No, if you'll look on 13, the pediatric history and  
23 physical examination report, I just put down the summary  
24 positive findings, the TMs were decreased, light reflex, you  
25 can see that it's written right there.

1 Q. That's tympanic membrane?

2 A. That's correct.

3 Q. So you were aware of what they found at Rush;  
4 right?

5 A. Yes, sir.

6 Q. How many cases of otitis media Doctor, have you  
7 been involved with directly? Hundreds? thousands?

8 A. Many. Yes.

9 Q. You know, I'm just trying to get a ballpark?

10 A. Hundreds.

11 Q. Several hundreds?

12 A. Probably thousands.

13 Q. It's not a rare finding; right?

14 A. No. We do that all day long.

15 Q. Is it correct that although a low percentage in  
16 number, there are cases of otitis media where the patient  
17 ultimately gets meningitis?

18 A. There are cases in which otitis media and bacterial  
19 meningitis overlap in the same patient.

20 Q. You have read about that; right? You have read  
21 about that occurring; right?

22 A. Read about it and experienced it.

23 Q. Is there any correlation in those instances between  
24 the otitis media and the meningitis?

25 A. The answer is no, if you're asking for otitis media

1 as a risk factor for meningitis

2 Q. Have you ever read to the contrary of that  
3 statement, Doctor, in recognized articles or journal where it  
4 has been reported to be a risk factor for meningitis?

5 A. Nothing which provided for me any compelling  
6 evidence to the contrary

7 Q. Nevertheless, have you ever read something that said  
8 in a recognized journal that didn't give you compelling  
9 evidence?

10 A. Maybe I have. I don't remember, because it never  
11 gave me any compelling evidence.

12 Q. Okay. I won't belabor that. I'll come back into  
13 that point.

14 Did you know anything now about the qualifications  
15 and experience of Dr. Gotoff? You read his dep. You know  
16 about his qualifications and experience; right?

17 A. I know everything that he told us about himself  
18 during his deposition, yes.

19 Q. And you knew about Cr. Dellatorre and Dr. Voorhees'  
20 qualifications and experience at the time in question; right?

21 A. Yes.

22 Q. Do you know anything about any of the other doctors  
23 or nurses and their qualifications and experience at the time  
24 in question?

25 MS. McDONALD: Other than what might be in Dr.

1 Dellatorre and Dr. Zarif's and Dr. Gotoff's depositions?

2 Q. Absolutely.

3 A. No, I had no other independent knowledge of the  
4 other players.

5 (A discussion was held off the record.)

6 Q. When I come back, Doctor, I'm going to go through  
7 Exhibit 3 and 4 with you. Exhibits 2 and 3, rather. With  
8 regard to the Exhibit 7, the plaintiffs' supplemental answers  
9 to rule 220 interrogatories, would you just turn to that for a  
10 moment? Can you tell me --

11 a. I don't know if I have it. You have it. Here it  
12 is.

13 Q. I told her to give you back all the originals.

14 A. You're right.

15 MR. GOLDBERG: Barbara, this doesn't tell me who  
16 or where did you get this?

17 MS. McDONALD: Your office.

18 MR. GOLDBERG: No, there's crossing out here. I  
19 was used in a deposition.

20 MS. McDONALD: I did that.

21 MR. GOLDBERG: You did that?

22 MS. McDONALD: Based on your expert's withdrawing  
23 opinions.

24 MR. GOLDBERG: Okay. So that where it's  
25 scratched out, you did it?

1 MS. McDONALD: Right.

2 MR. GOLDBERG: when you got it, it didn't have  
3 that scratching out; right?

4 MS. McDONALD: That's correct.

5 MR. GOLDBERG: And where it says "continued  
6 treatment for," you added that; right?

7 MS. McDONALD: That's correct.  
8  
9  
10  
11

12 Q. (By Mr. Goldberg) Now, Doctor, when we resume, so  
13 that you know, I'm going to be going through this exhibit and  
14 the opinions whether you agree or disagree with certain  
15

16  
17  
18  
19  
20 wasn't done with an intent to say whether, on those matters,  
21 you would agree or disagree with that; right?

22 A. That's correct.

23 Q. Have you ever used the phrase "fretful" to describe  
24 a child?

25 A. I may have.

1 Q. What does it mean to you, when you use it?

2 A. Oh, what does it mean to me? A child who -- a  
3 worried expression on his face, clings close to mother, perhaps  
4 whines some. That kind of thing.

5 Q. Not normal? Not a normal finding?

6 A. Well, it may be normal in the context.

7 Q. So you'd have to know the context?

8 A. Right.

9 Q. Had you, Doctor, ever read various task force paper  
10 put out by the American Academy of Pediatrics dealing with  
11 meningitis?

12 A. With the American Academy of Pediatrics?

13 Q. Thank you, American Academy of Pediatrics.

14 A. I believe there have been a couple task force  
15 reports published, particularly with regard to choice of  
16 antibiotics and the use of dexamethasone, and I have read  
17 those.

18 Q. Do you know which was the one most recent in point  
19 of time pertaining or closest to the year of 1970 when this  
20 care and treatment is involved?

21 A. No, I do not.

22 Q. The white paper that you referred to -- is that a  
23 position paper?

24 A. No, it's not.

25 Q. How would you describe it?

1           A.       It was a summary description of the topic of  
2 meningitis put together by three doctors, first edition of  
3 which was published in Pediatrics, 1986, I believe, the second  
4 edition of which was published in Pediatric Infectious Disease  
5 Journal I believe 1992, and it did not represent any statement  
5 on the part of the Academy, but was a compendium of knowledge  
7 that the three physicians put together for the public good.

8           Q.       You're talking about Feigin and McCracken?

9           A.       Feigin and McCracken were two of the three.

10          Q.       With regard, Doctor, to the other processes or  
11 elements that we talked about, have you had the occasion to  
12 deal with pyogenic arthritis, septic arthritis, those kinds of  
13 things?

14          A.       Yes.

15          Q.       How many times would you estimate you have been  
16 involved with diagnosis, management or treatment of those  
17 categories, infectious and non?

18          A.       You understand all these estimates are only  
19 orders-of-magnitude estimates. In a career that spans a long  
20 period of time, it's very hard to know exact numbers.

21          Q.       The reason I ask you, Doctor, is that you may be  
22 qualified at the trial, and most doctors I deal with are  
23 reasonably honest and give their best estimate, and that's all  
24 I'm looking for with you.

25          A.       I would say 50 to 100 times.

1 Q. How many taps have you done?

2 A. Personally?

3 Q. Yes?

4 A. Hundreds.

5 Q. Three, four?

6 A. I don't know.

7 Q. Three, four, five?

8 A. I don't know. That order of magnitude, however.

9 Q. Have you ever not done a spinal tap or lumbar  
10 puncture -- do you use the same interchangeably?

11 A. Yes.

12 Q. -- in a child that you believed clinically overtly  
13 had it?

14 A. Yes.

15 Q. You have not done it?

16 A. I have deferred it, yes.

17 Q. For what reason or reasons?

18 A. Because I felt it was medically contraindicated.

19 Q. But did you then begin to treat that patient  
20 nevertheless?

21 A. Yes.

22 Q. So you didn't withhold treatment?

23 A. No.

24 Q. Infectious gastroenteritis. Is that something you  
25 commonly come in contact with?

1 A. Yes.

2 Q. Are there classical signs and symptoms so that?

3 A. Diarrhea is always present.

4 Q. Is and are the signs and symptoms of infectious  
5 gastroenteritis the same or different than the clinically overt  
6 meningitis?

7 A. There are some overlaps and some differences.

8 Q. What are the differences?

9 A. The overlaps is that fever is common to both,  
10 although infectious gastroenteritis may not have fever. But  
11 sometimes it does. The differences have to do with the  
12 findings referable to the gastrointestinal tract in the one,  
13 and to the level of consciousness in the other.

14 Q. You don't generally associate level of  
15 consciousness, the kind of which you were describing earlier,  
16 altered level of consciousness with gastroenteritis; correct?

17 A. No. It can happen.

18 Q. You don't generally associate --

19 A. No, but I'm trying to answer because it can be seen  
20 and there are no generalities due to the heterogeneous groups  
21 of organisms causing gastroenteritis. So if you have certain  
22 organisms, alteration of consciousness may be common. If  
23 dehydration is part of the gastroenteritis, altered level of  
24 consciousness may be common. That's why when one defines  
25 clinically apparent meningitis, one always has to include no

1 other explanation.

2 Q. Dehydration would be another explanation

3 A. As I said.

4 Q. All right. Subdural effusion is a phrase you  
5 earlier used. Does that fall within the arthritic joint  
6 categories we've been describing, or is it a separate entity  
7 entirely?

8 A. Separate.

9 Q. Did this child, in your opinion, have a subdural  
10 effusion?

11 A. Yes.

12 Q. How many times have you been involved with -- the  
13 question was, .howmany times have you been involved with  
14 subdural effusions?

15 A. Well, subdural effusions are seen in 30 percent of  
16 cases of bacterial meningitis, so it's 30 percent of my total  
17 number of cases of bacterial meningitis.

18 Q. To differentiate -- how does one diagnose a subdural  
19 effusion from an arthritic joint?

20 A. Subdural effusion is a collection of fluid between  
21 the dura and the arachnoid membrane in the skull, and an  
22 arthritis is a swelling pain and inflammation of a peripheral  
23 joint. Two different anatomical sites.

24 Q. Right. How does one go about ruling in or ruling  
25 out the presence of subdural effusion?

1           A.     You can either do it by a transillumination,- a  
subdural tap, a brain scan, an arteriogram, CT scan, MRI.

3           Q.     Is it a clinical observation as made, diagnosis?

4           A.     Well, the transillumination is a clinical technique  
5     yes. The others require specialized imaging procedures or  
6     neurosurgery.

7           Q.     Did anyone diagnose it before the tap?

8           A.     We're talking about this case again?

9           Q.     Yes.

10          A.     Well, it was suspected, and based on that, a  
11     confirmatory procedure was performed, as you know

12          Q.     What date was it suspected? Was it before or after  
13     the 7th?

14          A.     After.

15          Q.     Seizures and stroke. Did this patient, in your  
16     opinion, have a stroke?

17          A.     Yes.

18          Q.     When?

19          A.     I believe the first clinical evidence of the stroke  
20     occurred on the 25th of January.

21          Q.     **Is** it your opinion that as a result of the stroke,  
22     he developed seizures or unrelated to the stroke he had  
23     seizures?

24          A.     He had seizures prior to the stroke.

25          Q.     What were the cause of the seizures, in your

1 opinion?

2 A. The cause of seizures at that stage I believe was a  
3 infarct of the brain.

4 Q. From?

5 A. From vascular injury secondary to an inflammatory  
6 reaction in the bacterial meningitis.

7 Q. The invoices that you described, Doctor, if you  
8 could be kind enough, when we resume, to bring it. If you give  
9 me a date or dates in the next several weeks that are good for  
10 you, even on a Saturday, if I can accommodate you, I'll try to  
11 come to refinish this deposition.

12 And I know we can never plan ahead, but if you could  
13 try and block out a time that I could just -- I would like to  
14 finish this. I know that you would also.

15 Would you also, Barbara, if there's a problem, tell  
16 me now? I would like him to get those articles I had asked him  
17 about. If you have a problem with that --

18 MS. McDONALD No, no problem.

19 MR. GOLDBERG If not, I'll rely that you will  
20 get them, Doctor, and have those materials.

21 MS. McDONALD Those four that he identified from  
22 his CV?

23 MR. GOLDBERG: Well, the articles, and I did ask  
24 him regarding -- there was a position paper; Feigin and so  
25 forth. Whatever those that you referred to are the ones I'm

1 looking for.

2 (A recess was taken.)

3 (The deposition recessed at 4:00 p.m.)

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

SANTA FE OFFICE  
123 East Marcy, Suite 208  
Santa Fe, NM 87501  
(505) 989-4949  
FAX (505) 820-6349

**BEAN**  
**& ASSOCIATES** Inc.  
**A**  
PROFESSIONAL COURT  
REPORTING SERVICE

MAIN OFFICE  
500 Marquette NW, Suite 280  
Albuquerque, NM 87102  
(505) 843-9494  
FAX (505) 843-9492  
**1-800-669-9492**

IN THE CIRCUIT COURT OF COOK COUNTY, ILLINOIS  
COUNTY DEPARTMENT, LAW DIVISION

NO: 91 l 21091

MARK TURNER, a disabled person by his  
co-guardians, DIANE TURNER and WILL TURNER,

Plaintiffs,

v.

CITY OF CHICAGO, a municipal corporation, d/b/a MUNICIPAL  
CONTAGIOUS DISEASE HOSPITAL, et al.,

Defendants.

CERTIFICATE OF COMPLETION OF DEPOSITION

I, MARY ABERNATHY SEAL, New Mexico CCR #69, DO HEREBY  
CERTIFY that on January 11, 1996, the deposition of MICHAEL S.  
RADETSKY, M.D., was taken before me at the request of, and  
sealed original thereof, retained by:

Mr. Barry Goldberg  
Attorney for Plaintiffs  
33 North Dearborn Street, Suite 1930  
Chicago, Illinois 60603-4297

I FURTHER CERTIFY that copies of this certificate have been  
mailed or delivered to the following counsel and parties not  
represented by counsel appearing at the taking of the  
deposition.

Ms. Barbara A. McDonald  
Attorney for Defendants  
30 North LaSalle Street, Room 800  
Chicago, Illinois 60602

I FURTHER CERTIFY that examination of this transcript and  
signature of the witness was required by the witness and all  
parties present.

I FURTHER CERTIFY that the cost of the original and one  
copy of the deposition to the PLAINTIFFS is \$ \_\_\_\_\_

I FURTHER CERTIFY that I did administer the oath to the  
witness herein prior to the taking of this deposition; that I  
did thereafter report in stenographic shorthand the questions

1 and answers set forth herein, and the foregoing is a true and  
2 correct transcript of the proceeding had upon the taking of  
this deposition to the best of my ability.

3 I FURTHER CERTIFY that I am neither employed by nor relate  
4 to any of the parties or attorneys in this case, and that I  
have no interest whatsoever in the final disposition of this  
5 case in any court.

6 Mary A. Seal  
Mary Abernathy Seal  
7 Certified Court Reporter #69  
License expires: 12-31-96

8 (5222-8) MAS  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25