

CATA

In The Matter Of:

Mark Turner, et al v.

City of Chicago, et al

RECEIVED

FEB 14 1996

GOLDBERG & GOLDBERG

Michael S. Radetsky, M.D.

Vol. 2, February 8, 1996

Bean & Associates, Inc.

Professional Court Reporting Service

500 Marquette, N.W., Suite 280, Albuquerque, NM 87102

123 East Marcy, Suite 208, Santa Fe, New Mexico 87501

(800) 669-9492 or (505) 843-9494

Original File ra020896.v1, 234 Pages
Min-U-Script® File ID:0956274140

Word Index included with this Min-U-Script®

Page 208

Page 209

[1] IN THE CIRCUIT COURT OF COOK COUNTY ILLINOIS
COUNTY DEPARTMENT LAW DIVISION

[2] NO 91 L 21091

[3] MARK TURNER a disabled person by his

[4] co-guardians DIANE TURNER and WILL TURNER,

[5] Plaintiffs

[6] vs

[7] CITY OF CHICAGO a municipal corporation d/b/a
MUNICIPAL CONTAGIOUS DISEASE HOSPITAL et al ,

[8] Defendants

[9] TELEPHONE DEPOSITION OF MICHAEL S. RADETSKY M D
VOLUME II

[11] February 8, 1996
9 15 a.m

[12] 500 Marquette Northwest Suite 280
Albuquerque, New Mexico 87102

[13] PURSUANT TO THE ILLINOIS RULES OF CIVIL PROCEDURE
[14] this deposition was

[15] TAKEN BY MR BARRY GOLDBERG
ATTORNEY FOR THE PLAINTIFFS

[16] REPORTED BY MARY ABERNATHY SEAL, RPR, RMR, RDR. NM CCR #69
[17] Bean & Associates, inc

[18] Professional Court Reporting Service
[19] 500 Marquette Northwest Suite 280
Albuquerque, New Mexico 87102

[20] 5229-3 MAS

[21]
[22]
[23]
[24]
[25]

[1] APPEARANCES

[2] For the Plaintiffs (By telephone):

[3] GOLDBERG & GOLDBERG
Attorneys at Law

[4] 33 North Dearborn. #1930
Chicago, Illinois 60602

[5] BY: MR. BARRY GOLDBERG

[6]

For the Defendants (By telephone):

[7]

MS. BARBARA A. McDONALD

[8] Assistant Corporation Counsel

City of Chicago Department of Law

[9] 30 North LaSalle Street. Room 800

Chicago, Illinois 60602

[10]

[11] INDEX PAGE

[12] MICHAEL S. RADETSKY, M D

[13] Continued Examination by Mr Goldberg 210

[14] Examination by Ms McDonald 424

[15] Further Examination by Mr Goldberg 427

[16] Further Examination by Ms McDonald 436

[17] CERTIFICATE OF COMPLETION OF DEPOSITION 439

[18] SIGNATURE CORRECTION PAGE 441

[19] EXHIBITS MARKED OR FORMALLY IDENTIFIED

[20] 10 "The Clinical Evaluation of the Febrile Infant" 438

[21] 11 "Duration of symptoms and outcome in bacterial
meningitis" 438

[22]

12 "The timing of antimicrobial therapy and outcome in
serious bacterial infection" 438

[23] 13 "Duration of treatment in bacterial meningitis A
historical inquiry" 438

[24]

14 "The Effect of a Recent Previous Visit to a Physician on

Page 218

[1] **A:** Well, I can't answer it, sir, without looking at the
[2] context. I didn't copy down the word "fretful."
[3] **Q:** Well, whether you copied it or not, what do you
[4] assume the word to mean?
[5] **MS. McDONALD:** I think he's indicated he needs to
[6] see it in the chart. You know, maybe to speed things up there
[7] are some -
[8] **MR. GOLDBERG:** That's not the point. I'm asking
[9] you, as a physician, what does the word "fretful" mean to you,
[10] whether it's in the chart or not?
[11] **A:** It means what it means in the context in which it's
[12] used. It's very hard to answer unless I know the context.
[13] **Q:** Well, Doctor, answer the question anyway.
[14] **A:** Mr. Goldberg, I have answered the question.
[15] **Q:** You haven't.
[16] **MS. McDONALD:** He's answered the question.
[17] **Q:** Do you know what the word "fretful" means? Yes or
[18] no, medically?
[19] **MS. McDONALD:** He's indicated it means different
[20] things in different contexts.
[21] **Q:** What does it mean, Doctor?
[22] **MS. McDONALD:** That's a perfectly adequate
[23] answer.
[24] **A:** I have answered the question. sir.
[25] **Q:** You, haven't, sir. I'm waiting for an answer.

Page 219

[1] Either you know, you don't know, or -
[2] **MS. McDONALD:** Or it has a different meaning in
[3] different contexts.
[4] **MR. GOLDBERG:** And I'm waiting for an answer.
[5] **MS. McDONALD:** That's an answer.
[6] **A:** If you want to point to a particular area in the
[7] chart and I can point to it -
[8] **Q:** I'm not pointing to anything, Doctor. I'm asking
[9] you, as a physician, what the word "fretful" means.
[10] **MS. McDONALD:** And he told you it means
[11] different -
[12] **Q:** I'm waiting for an answer to the question.
[13] **MR. GOLDBERG:** Read it back to him.
[14] (The record was read by the reporter.)
[15] **A:** It means different things in different contexts.
[16] **Q:** Tell me all the different things it means to you,
[17] Doctor, as a physician. And give me the contexts.
[18] **A:** That's an impossible question to answer, sir.
[19] **Q:** I don't think so, Doctor, and I'm waiting for that.
[20] My experts were asked the question. I'm now asking you.
[21] **A:** Don't yell at me, sir.
[22] **Q:** I'm not yelling.
[23] **A:** I have answered the question.
[24] **Q:** Tell me what "fretful" means or we terminate the
[25] deposition. I will go to court and get an order.

Page 220

[1] **MS. McDONALD:** He answered the question.
[2] **MR. GOLDBERG:** No, he hasn't answered the
[3] question, and I'm waiting.
[4] **Q:** Tell me all the different meanings that you consider
[5] "fretful" to mean.
[6] **A:** I don't consider "fretful" to mean any particular
[7] limited series of things. In the opinion of whoever uses the
[8] word, it obviously has a descriptive meaning regarding the
[9] behavior of a person. What that descriptive meaning is depends
[10] on the context in which it's used.
[11] I'm sorry, sir. I'm not finished. And therefore, in
[12] order for me to try to answer the question what does "fretful"
[13] mean, one needs to look at the context.
[14] **Q:** I'm reading from a note that says, "Fretful at
[15] times." What does that mean?
[16] **A:** Can you refer me to the note?
[17] **Q:** It's a note on page 45 on the date of the 8th.
[18] "fretful at times." What does it mean?
[19] **A:** I'm finding that particular point, if you'll just
[20] wait a moment. Now, is that from a progress note?
[21] **Q:** No, it's from a nurse's note.
[22] **A:** Okay.
[23] **Q:** You will have either page L83, or 45 is the number,
[24] one of the two. Do you have the original?
[25] (A discussion was held off the record.)

Page 221

[1] **Q:** 12:00 is the time, Doctor.
[2] **A:** I'm still looking for it, sir.
[3] **Q:** Do you have the nurses' notes in your copy of the
[4] chart?
[5] **A:** Well, as I said, I'm looking through the notes that
[6] I have in order to try to find the nursing notes.
[7] **Q:** Have you found them yet? Are your pages numbered in
[8] the lower right corner, Doctor?
[9] **A:** Some of them are, sir, and some of them aren't.
[10] **Q:** What are the pages that you have? What series? L
[11] or -
[12] **A:** I have a number of different piles of things here
[13] that were all the notes.
[14] **Q:** I'm talking about the chart itself. Do you have
[15] that?
[16] **A:** The chart I have is in loose-leaf form. It is not
[17] stapled and it does not have a three-ring binder on it.
[18] **Q:** In the bottom right corner, is there a number, or a
[19] letter and a number?
[20] **A:** Well, one packet, for example, has numbers. Another
[21] packet has numbers. Some of them - they all seem to have -
[22] well, I take that back. Most of them have numbers. Some of
[23] them do not. And I can't seem to find that particular nursing
[24] note that you're referring me to. Maybe you can -
[25] **Q:** I'm giving you the number coding system, Doctor,

Page 222

[1] that's on my copy. L83 or 45. Nurses' notes from the date of
[2] the 8th.
[3] A: I can't find them in my copy of it, sir.
[4] MS. McDONALD: They're not in my copy, either,
[5] for some reason. This was maybe from the original chart. I
[6] don't know.
[7] MR. GOLDBERG: This is the copy that I have, and
[8] this is what you made from the original.
[9] MS. McDONALD: But I'm just pointing out for some
[10] reason they're missing in my chart, too.
[11] A: I do not have that.
[12] Q: (By Mr. Goldberg) In your copy of the chart you
[13] received, you don't have any nurses' notes?
[14] A: No, I do not have that number 45 on a nursing note.
[15] Q: You have L83? Doctor, I don't want to belabor
[16] this. Find the nurses' notes you do have, and find the date of
[17] January 8.
[18] A: Well, you know something, Mr. Goldberg? That's
[19] exactly what I'm trying to do.
[20] Q: Well, that's what I'm waiting for.
[21] MS. McDONALD: Let me just say, it may be
[22] missing, because it's missing from my copy, but if he looks on
[23] it - maybe you have the one from January 4. There's also a
[24] reference in there on the nursing notes.
[25] MR. GOLDBERG: I'm not interested in January 4.

Page 223

[1] I'm interested in January 8.
[2] Q: Do you have that in the materials that were sent to
[3] you, or not?
[4] A: I do not find a copy of nursing notes from January
[5] 8.
[6] Q: How about January 9?
[7] MS. McDONALD: That would be the same page,
[8] wouldn't it?
[9] Q: On January 13 or 15.
[10] A: Well, you know, maybe when you fax the other
[11] materials, sir, you could just fax those to me and I'd be able
[12] to have them.
[13] Q: I will do that, but I'm trying to find out, Doctor,
[14] whether in any of the materials you have been sent and the
[15] material you reviewed, in any of those, did you have the
[16] nurses' notes, the complete set of nurses' notes from the 27th
[17] until the time of discharge?
[18] A: Well, I certainly had nursing notes up until the -
[19] let's see. Up until the 7th, I have nursing notes. But I
[20] can't find any nursing notes after the 7th.
[21] Q: Have you taken a thorough look, Doctor?
[22] A: Well, I'm relooking for the second time now, sir.
[23] Q: All right. I want to make sure that we don't have
[24] an error in that you weren't given adequate time to review the
[25] records. If you have looked at them and you're convinced and

Page 224

[1] thoroughly satisfied they're not there, then I'll take another
[2] procedure.
[3] A: Let me look in one last place, if you will.
[4] Q: All right.
[5] A: Voila, I found it. I found the nursing notes with a
[6] 45 at the bottom. Is that what you were asking for?
[7] Q: There is a page -
[8] A: Yes. I see it.
[9] Q: - of the nursing notes that has 45 with the top of
[10] it having 1/7/71, 1/8/71 and 1/9/71.
[11] A: Well, the nursing note I have that has a 45 on the
[12] bottom says Mark Turner with a number, physician's reference,
[13] 1/7/71 at the top, so that's - I do have a copy right here.
[14] Q: Okay. So then if you drop down some lines, you see
[15] 1/8?
[16] A: Got it.
[17] Q: Yes?
[18] A: Got it.
[19] Q: I can't hear you, Doctor.
[20] A: Yes, sir, I have it.
[21] Q: Thank you. Can you speak into the microphone,
[22] Doctor? We hear you better.
[23] A: Okay. How's this?
[24] Q: See there next to the day 1/8/71, it says, "Fretful
[25] at times"?

Page 225

[1] A: Yep.
[2] Q: What does that mean to you?
[3] A: Well, inasmuch as there's no other modifying phrase
[4] with it, in reading that over, I would assume that to the
[5] observer, the child seemed to her to be worried or concerned or
[6] a little bit uncomfortable at the time. But it wasn't a
[7] constant thing; it just happened from time to time.
[8] Q: Have you given me the answer to that question now,
[9] Doctor?
[10] A: Yes, I have.
[11] Q: Okay. Immediately above that, on the same page, you
[12] see it says 1/7/71?
[13] A: Yes, I see that.
[14] Q: You see it says, "Very irritable when disturbed?"
[15] A: Yes.
[16] Q: What does that mean to you?
[17] A: It means the child cries when disturbed.
[18] Q: Have you finished the answer, Doctor?
[19] A: Yes.
[20] Q: Dropping down below the "fretful at times" that you
[21] just read, you'll see it says on the 8:00 note, "Fretful when
[22] disturbed."
[23] A: Yes. I see it.
[24] Q: What does that mean to you?
[25] A: It means that the child seems uncomfortable, or

Page 226

[1] worried or perhaps whines some when disturbed
[2] Q: Have you shed that, Doctor?
[3] A: Yes
[4] Q: Are the nurse's notes, the type of which we've just
[5] read, something which you took into consideration in reaching
[6] the opinions concerning this child's status?
[7] A: I took the notes into consideration in reaching my
[8] conclusion, yes.
[9] Q: Well, in reaching your conclusion, did you then also
[10] consider and evaluate the status as observers who were with the
[11] child wrote in the chart?
[12] A: Yes, I did.
[13] Q: Now, Doctor, would you turn to a page of the nurse's
[14] notes that deals with the date of the 3rd? And I can give you
[15] the front of the page number, but I don't know what would be on
[16] the back. It's either L89 or 56 on the front. On the front is
[17] a graph sheet.
[18] MS. McDONALD: The back of L90.
[19] Q: L90.
[20] A: Well, I don't have a number that's readable to me,
[21] but I have nursing notes dated 1/3/71 in which there are some
[22] notes and then there's a cross through the notes. Is that what
[23] you're referring to? Does it start with staff, or staff
[24] rounds?
[25] Q: No.

Page 227

[1] A: Let me flip to the next one, then.
[2] Q: It's on the - we're talking about -
[3] MS. McDONALD: I think he's looking at L88.
[4] A: Yes, I have the one you're referring to.
[5] Q: Do you have that in front of you?
[6] A: Yes.
[7] Q: And the nurse's notes, the date I'm referring you to
[8] is 1/3/71. Do you find that, Doctor?
[9] A: Yes, I do.
[10] Q: Is this the first written note, reads "staff
[11] rounds"?
[12] A: Yes, I see it.
[13] Q: Dropping down on the left side there, you see where
[14] it says 1/4/71?
[15] A: Yes, I do.
[16] Q: It says "Fretful at times"?
[17] A: Misspelled, but yes.
[18] Q: However it's spelled, do you see that?
[19] A: Yes, I do.
[20] Q: What did you assume it to mean in that context?
[21] A: Again that the child was from time to time worried
[22] or concerned or uncomfortable.
[23] Q: The child, right?
[24] A: The child, yes, sir.
[25] Q: Have you completed your answer?

Page 228

[1] A: Yes
[2] Q: The next note on the next shift says, "Somewhat
[3] fretful." What did you assume that to mean?
[4] A: The same
[5] Q: What does the word "restless" mean to you, Doctor,
[6] A: Is the "restless" the one that you're referring to
[7] on 1/3/71.
[8] Q: It's on the page there, yes.
[9] A: I'm sorry. It's 1/5/71. I misspoke.
[10] Q: Right.
[11] A: I interpret that to mean that the child was not
[12] resting comfortably, but perhaps was rolling around more, or
[13] seemed to be somewhat uncomfortable.
[14] Q: Did you, Doctor, anywhere in any of the notes that
[15] you, in fact, dictated and had typed include the nurse's notes,
[16] the ones we've just read?
[17] A: Well, let me refer back to those notes. No. I did
[18] not include those excerpts in my notes.
[19] Q: Why not?
[20] A: I did not consider them to be important observations
[21] from the part of the nurse that I wished to use as a way of
[22] review.
[23] Q: Why not?
[24] A: Because they were not significant to me.
[25] Q: Doctor, is it correct that "fretful" is a phrase

Page 229

[1] that can be used depending upon the person using it to describe
[2] a status dealing with mental status or altered mental status?
[3] A: I don't know, sir. I have never used the word
[4] "fretful" that way, but again, as with most words, people use
[5] them in various ways.
[6] Q: Did you assume or consider that the way that it was
[7] being used is in the context of someone describing altered
[8] mental status?
[9] A: No. I assumed that it did not include a child who
[10] had a meaningfully altered mental status, no.
[11] Q: Have you, Doctor, ever seen in any textbooks,
[12] Pediatric Infectious Disease, things of that type, where among
[13] the descriptive phrases used in describing an altered mental
[14] status that the word "fretful" is used?
[15] A: I don't have a memory of seeing that word, no.
[16] Q: And Doctor, if, in fact, the author of that note
[17] used it to describe an altered mental state, I take it you
[18] would then be able to use that in that context and fit it into
[19] the picture concerning the status of this or any child;
[20] correct?
[21] A: I don't understand that question at all.
[22] Q: Doctor, you have made an assumption as to what you
[23] believe the word "fretful" meant, didn't you?
[24] A: I have interpreted the words that were on the page
[25] just like everyone else, sir, and it's not an assumption.

Page 234

[1] Q: If that were to be the case and the places in this
[2] chart where "fretful" were, in fact, written - would that in
[3] any way have any impact upon your opinions concerning the
[4] status of this child?
[5] MS. McDONALD: Let me object because there's no
[6] basis for the hypothetical. But go ahead.
[7] A: Well, if that hypothetical were true, it would make
[8] me think longer about what the child's mental status was during
[9] those days in which that word was being used, because it
[10] conflicts with an appraisal of the child's mental status which
[11] was performed by the physician staff. And given that conflict,
[12] I would have to reconsider what my portrait of the child's
[13] condition was at the time and reconsider whether the child had
[14] earlier evidence of a significant disease. But I would be
[15] caught in that conflict because the physician staff was quite
[16] clear that the child did not have a meaningful altered level of
[17] consciousness at the time.
[18] Q: I appreciate that. But that's what you'd be
[19] required to do; correct?
[20] A: Yes.
[21] Q: You could do that; correct?
[22] A: I would have to.
[23] Q: All right. Now, the next thing I want to ask you,
[24] Doctor, is, have you ever seen written in any articles,
[25] journals, literature, involving pediatrics or infectious

Page 235

[1] disease, that the phrase "restless" was used in the furtherance
[2] of describing an altered mental status?
[3] A: I don't remember specifically, sir.
[4] Q: Is that something which, Doctor, in your opinion, a
[5] person that was observing a child could use for that purpose?
[6] A: They could, but I doubt very much whether that would
[7] be the only word that they would use.
[8] Q: Now, if I were to ask you to assume that on the 5th,
[9] the word "restless" was used by the author of that note to
[10] imply in her opinion that this child's mental status was
[11] significantly altered, you could then take that and integrate
[12] that into the factual picture or the verbal picture or the
[13] written picture that you have of this patient in your mind's
[14] eye; correct?
[15] MS. McDONALD: Let me just object. Again,
[16] there's no basis for that assumption, but go ahead.
[17] Q: Is that correct, Doctor?
[18] A: That's correct.
[19] Q: Now, the more places, Doctor, that you would see
[20] evidence earlier on in this case of this child having evidence
[21] of altered mental status would then be something which, if
[22] hypothetically you took it into consideration, might or could
[23] change your opinions: is that a fair statement?
[24] A: That was kind of a tricky sentence, because there
[25] was a lot of that "might have" and "could have" stuff in it.

Page 236

[1] But I certainly would have to integrate that in the formation
[2] of my opinion.
[3] Q: And in this case, with reference to the word
[4] "fretful" and with reference to the word "restless," you have
[5] given us the opinions as to how you interpret it when you, in
[6] fact, reviewed this case and at the time you reached your
[7] opinions and gave your opinions at the deposition the last time
[8] and this time: correct?
[9] A: Yes.
[10] Q: Did you note, Doctor, that Dr. Gotoff, in his
[11] reading of the interpretation of the word "fretful" and
[12] "restless" had a different meaning and interpretation than you
[13] do?
[14] A: Perhaps you could refer me to that.
[15] Q: Well, do you remember that?
[16] A: No, I don't.
[17] Q: Did you note or did you read the deposition of Dr.
[18] Schulman?
[19] A: No, I did not.
[20] Q: Did you read the deposition of any of the
[21] plaintiffs' experts?
[22] A: Yes. A Dr. Charash and a Dr. Livingston. Do I have
[23] his name correct?
[24] Q: There is one of the experts who has the name
[25] Livingston.

Page 237

[1] A: Yes. A Charash and a Livingston were the two that I
[2] read.
[3] Q: As to them, do you recall what they used as the
[4] definition of the two words I have just asked you, "restless"
[5] and "altered"?
[6] A: You mean "restless" and "fretful"?
[7] Q: "Fretful," yes, thank you.
[8] A: No, I don't remember specifically.
[9] Q: Doctor, the word "irritable." Is the word
[10] "irritable" something which an observer, in your opinion, can
[11] use to describe an altered mental status in a child of this
[12] age?
[13] A: Yes, someone could use that word.
[14] Q: Have you ever seen that word used or written in that
[15] regard to describe - in other words, in the furtherance of
[16] describing a mental status, to use the word "irritable"?
[17] A: I have seen people use the word "irritable" as a
[18] word used to describe a mental status.
[19] Q: Where have you seen that written?
[20] A: I have seen it written in patient charts.
[21] Q: Have you seen it written in any texts such as Nelson
[22] or Feigin or Pediatric Disease, Feigin and Cherry? Have you
[23] seen it written in any recognized authoritative text or
[24] recognized text of any kind?
[25] A: I'm sure I have, because it's a word that is

Page 238

Page 240

[1] commonly used in the attempt to describe in words the portrait
[2] of a child who has a meaningful altered level of consciousness.

[3] Q: When it's used to describe a child who has a
[4] meaningful altered level of consciousness, in those
[5] circumstances, hypothetically, where it's used and appropriate,
[6] what does then the word "irritable" signify and mean to you?

[7] A: It means a child who is inappropriately in pain and
[8] crying in a way that is outside the expected range of normality
[9] for a child at a particular age given a particular stimulus.
[10] And it also means that that condition is one which is more or
[11] less constant, rather than coming and going.

[12] Q: You said pain. Pain from what. Doctor?

[13] A: Well, the usual interpretation of children who cry a
[14] lot and are described as irritable is that they are in pain
[15] either without being moved or upon being moved, or that the
[16] pain that they have is made worse by being moved, and that's
[17] why they're irritable. But that's an assumption, since no one,
[18] you know, has talked to a six-month-old recently.

[19] Q: I appreciate that, Doctor, but isn't one of the jobs
[20] that physicians, pediatricians, and others that care for
[21] children of this age are expected to do is to deal with how,
[22] and using the limitations a six-month-old has, to reflect what
[23] he or she is experiencing?

[24] A: Yes, and that's why I have tried to explain what the
[25] usual interpretation of this excessively irritable state is.

Page 239

[1] Most people consider it to be one in which the child is in pain
[2] and is expressing the irritability because of the pain.

[3] Q: Doctor, have you ever used the word "irritable" in
[4] describing children in the age under one year of age in the
[5] definition or context different than you just described, that
[6] you recall?

[7] A: Again, I may have at one time, sir. I try to stay
[8] away from that, because "irritable" has acquired a certain
[9] interpretation of its own that implies serious disease when in
[10] reality it's a word that is used to mean many things by the
[11] people who use it. To avoid that conflict between what I mean
[12] and what other people think I mean, I try to avoid the use of
[13] the word "irritable" now. But I may have done it in the past.

[14] Q: And you do know that other people, Doctor, who may
[15] not try to avoid that conflict may use it to accurately
[16] describe a child who is in pain, or it may be used to describe
[17] a child who is not in pain; correct?

[18] A: Yes. The word "irritable" is used to describe all
[19] kinds of children, sir.

[20] Q: Did you, Doctor, in the review of your records, the
[21] records of this child, look to see whether or not the word
[22] "irritable" was used to describe -

[23] A: I did not seek out the word "irritable," but I noted
[24] that the word "irritable" had been used.

[25] Q: Who the are the persons that used it? Are there

1] nurses, physicians, or both?

2] A: Well, it was used by a nurse, certainly, and I think
3] we've already pointed that out. Whether it was used by
4] physicians, I don't recall right now that the word "irritable"
5] was used by a physician prior to the diagnosis being made, and
6] I'd have to search out whether the word "irritable" was used
7] subsequent to the diagnosis. I'd be happy to do that, if you'd
8] like me to.

9] Q: Well, let's look in the area. Doctor, of the 2nd,
0] 3rd, 4th, 5th, 6th and 7th on your notes. By your notes, I
1] mean the ones dealing with the chart, Exhibit 4B, okay,
2] Doctor!

3] A: My notes are Exhibit 4A. I believe, sir.

4] Q: You have the original as 4A. We made copies which
5] are 4B, but they're the same. So starting with the 2nd, did
6] you find, between the 2nd and the end of the 7th, any physician
7] that describes the child as being irritable?

8] A: No.

9] Q: Same question. Doctor, the 2nd through the 7th. Did
0] you find any physician that described the child as being in
1] pain?

2] A: Yes.

3] Q: When?

4] A: There is a progress note on January 6th at 2030
5] hours by Dr. Shastri, and there is a progress note on January

Page 241

1] 7th by Dr. Zarif.

2] Q: Read those two notes, please.

3] A: The note from Dr. Shastri reads as follows:

4] "Child's mother complains that child cries when his right arm
5] is moved. Right arm is tender, swollen and warm. Movement
6] seems to produce pain."

7] And the note from Dr. Zarif says, "Baby cries when
8] right arm is touched."

9] Q: Turn back to page - where it says the 5th, Doctor,
0] which is page 6 of your typewritten sheet.

1] A: Yes.

2] Q: You find a nurse's note?

3] A: Yes.

4] Q: You find where it says, "Right wrist seems tender,
5] extremity painful to touch'?

6] MS. McDONALD: E-X.

7] Q: You find you put in your notes "extremity painful to
8] touch'?

9] A: Yes.

0] Q: You assume the E-X to mean "extremity"?

1] A: Yes.

2] Q: And Doctor, E-X could then also properly be
3] "extremity," or could it also be "extremely"?

4] A: It could be "extremely," sir.

5] Q: Either way, either word doesn't change the meaning

Page 242

{1} of the context, is that correct?

{2} A: I don't believe so

{3} Q: Doctor, with regard to pain on the 5th, did you
{4} assume that that pain was real?

{5} A: Yes

{6} Q: Did you assume that if it was present and real, that
{7} it might or could cause this child to be irritable?

{8} A: Yes

{9} Q: Same question for the 6th and 7th. Did you assume
{10} it to be real and it might or could cause the child to be in
{11} pain, in real pain?

{12} A: Yes

{13} Q: With regard, Doctor, to the mother's deposition, or
{14} the mother's reference to her comments by way of history and
{15} other hospital charts, did you note that the mother made
{16} reference in either of those two places to the child being in
{17} pain at a point even earlier than the 5th?

{18} A: I believe the mother felt that the child was in pain
{19} prior to the 5th, yes

{20} Q: Doctor, for purposes of your consideration of the
{21} assessment of this child from a viewpoint of the picture you
{22} created in your mind overall, did you assume what the mother
{23} said and believed to be correct?

{24} A: I believe that the mother was honest in her memory,
{25} yes

Page 243

{1} Q: Have you, Doctor, from your experience, come to
{2} learn that, of course, mothers may vary, as is everything, as
{3} is true with everything in life? That there are mothers that
{4} are good historians, some are superb, some are poor, some are
{5} terrible? But if the mother was a good historian - did you
{6} assume in this case that she was or wasn't a good historian?

{7} A: I assumed that her memories were honest memories. I
{8} thought that her historical recollection was fair. I didn't
{9} think she was a superb historian, but I didn't think she was a
{10} poor historian. That's fair.

{11} Q: Has it been your experience, Doctor, that you find
{12} that mothers, because of the fact they're with their children
{13} for a much longer time in a given period than the health care
{14} provider, would have a better awareness than would the person
{15} who's with them for the first time of what's different about
{16} their child?

{17} A: I think that's true. **Sir**, when the events are very
{18} contemporaneous about which they are recalling the
{19} information. But at a distance of decades, I'm not sure that's
{20} the case.

{21} Q: **Right**. So the longer out from the time period, the
{22} less you would find the reliability factor would be there;
{23} right?

{24} A: That's correct.

{25} Q: But if, within matters of days, while the memory is

Page 244

1) fresh, they gave that history, that would be closer in time,

2) wouldn't it?

3) A: Yes, it would.

4) Q: Yes?

5) A: I said yes, it would.

6) Q: **Thank** you. In fact, you have written about that
7) very point, haven't you?

8) A: Yes, I have.

9) Q: **Now**, Doctor, can the word "irritable," in your
10) medical opinion, be something which a physician or a nurse or
11) both may use appropriately in the context of describing a
12) meaningfully altered level of consciousness?

13) A: It can be used to mean many things, and that
14) certainly **is** one of them.

15) Q: With regard, Doctor, to early signs and symptoms of
16) meningitis, have you ever seen that phrase written?

17) A: Which phrase **is** that, **sir**?

18) Q: "Early signs and symptoms of meningitis."

19) A: I don't specifically recall having seen that, but I
20) may have.

21) Q: Have you ever specifically written and used those
22) very words, Doctor?

23) A: I may have, **sir**.

24) Q: And "symptoms of meningitis"?

25) A: I may have, but I don't recall specifically.

Page 245

1) Q: Whenever you wrote something and had it published,
2) was it your intent and was it your belief that you were writing
3) it in good faith for those to read and rely upon?

4) A: Yes.

5) Q: Have you ever seen, for example, in any medical text
6) "early signs and symptoms of meningitis" as a heading or
7) category?

8) A: I don't recall.

9) Q: **Is** that something you'd expect to see in any
10) recognized text, such as Nelson?

11) A: I don't expect to see that specifically in a text.

12) Q: Would you expect that it would nevertheless be
13) there?

14) A: Not necessarily, no.

15) Q: Under the heading of "meningitis"?

16) A: Not necessarily, no.

17) Q: And if it were there, would it surprise you to see
18) the area dealing with early **signs** and symptoms of meningitis to
19) be a category or heading?

20) A: No, it wouldn't surprise me.

21) Q: Have you, Doctor, in the furtherance of **this** case,
22) looked at the ninth edition of the Nelson textbook on
23) pediatrics which was the year 1969, to see what was written
24) surrounding meningitis?

25) A: No.

Page 246

Page 248

[1] Q: Or the same question for otitis media.
[2] A: No
[3] Q: Or the 10th edition from 1975?
[4] A: No
[5] Q: Have you gone to any books such as Feigin and Cherry
[6] to see what was written on meningitis?
[7] A: Not in conjunction with this case, no
[8] Q: You have indicated there are certain authors that
[9] you believe in the area of pediatric infectious disease to be
[10] recognized authorities, have you not?
[11] A: No
[12] Q: You have not said that?
[13] A: No
[14] Q: Well then, let me ask you Who are some of the
[15] people in the United States you consider to be recognized
[16] figures in infectious disease?
[17] A: Well, depends what you mean by recognized figures
[18] I can certainly give you the names of people who are prominent
[19] in their writings and in their reputation for issues involving
[20] infectious disease
[21] Q: I'll be glad to use that if that's the way you are
[22] comfortable
[23] A: But I want to make sure that you understand that I
[24] consider no person to be authoritative in the sense of
[25] all-knowing and always correct

[1] something, if someone writes a commentary or a critique, that
[2] you in fact would - and pursue the finding thereof?
[3] A: I don't understand the question
[4] Q: When someone such as yourself writes an article,
[5] someone writes a commentary on it. isn't that something that
[6] you would expect to be made aware of by your publisher?
[7] A: Well, in the one instance in which that happened to
[8] me, I was unaware that a commentary was to be published in
[9] conjunction with my article.
[10] Q: Are you aware that any article you have ever
[11] published had a commentary written about it?
[12] A: Yes. One article that I published had two
[13] commentaries written about it.
[14] Q: One by a lawyer, and one by Dr. Klein; correct?
[15] A: No. It was Dr. Feigin and Dr. Kaplan wrote the
[16] commentary, and an attorney wrote another commentary.
[17] Q: Excuse me. Dr. Feigin. Have you read anything that
[18] Dr. Klein has written about you?
[19] A: No.
[20] Q: Now, Doctor, do the articles that you write and seek
[21] to have published contain opinions and conclusions which, when
[22] you write them, you believe are honestly correct?
[23] A: Yes.
[24] Q: And when you write things for publication, you have
[25] an audience in mind, do you not?

Page 247

Page 249

[1] Q: Including yourself.
[2] A: Unfortunately true, sir. The names of such people,
[3] many of the names that you have named, Dr. Feigin, Dr. Cherry
[4] are two of them.
[5] Q: Any others you can think of that come to mind?
[6] A: Well, really, there are many, many names, and if I
[7] were to say a name, it wouldn't mean that they were any more
[8] wise than names that I might inadvertently leave out.
[9] Q: Well, how about my giving you a name that I think
[10] you might be familiar with. Jerome Klein.
[11] A: Yes.
[12] Q: Is he someone that you have read some of his
[13] articles?
[14] A: Yes.
[15] Q: Have you ever spoken to Jerome Klein?
[16] A: I may have briefly once.
[17] Q: Has he and you ever collaborated on any work?
[18] A: No.
[19] Q: Has he ever written any critique on any of the work
[20] you have had published or sought to have published?
[21] A: Not to my knowledge.
[22] Q: Has he made any commentaries on works that you have
[23] published?
[24] A: Not to my knowledge.
[25] Q: Is that something which, Doctor. when you publish

[1] A: I don't know entirely who the audience is, but I
[2] write them at a level with an audience in mind. In other
[3] words, the terminology and complexity of the presentation is
[4] written to match the sophistication of an audience.
[5] Q: Now, did you ever read the commentary about one of
[6] your articles written by Dr. Feigin?
[7] A: Yes.
[8] Q: Did you read the commentary written by the attorney?
[9] A: Yes.
[10] Q: Did you approve those commentaries?
[11] A: I had no prior knowledge of the commentaries.
[12] Q: Did you send any letters or responses to either one
[13] of them regarding the commentaries?
[14] A: No.
[15] Q: Did you ever call or discuss it with them or they
[16] with you?
[17] A: No.
[18] Q: Do you remember what those commentaries had to say?
[19] A: In rough outline, yes.
[20] Q: Did you find them to be reliable and scientifically
[21] correct?
[22] A: Well, the attorney's commentary was not a scientific
[23] commentary. The commentary by Drs. Feigin and Kaplan I thought
[24] represented their point of view quite well.
[25] Q: Does that mean it was scientifically and medically

Page250

[1] correct?

[2] A: There were portions of their commentary that I did
[3] not agree with. There were poruons of their commentary that I
[4] did agree with.

[5] Q: There were portions of the commentary concerning
[6] what you said that they didn't agree with, wasn't there?

[7] A: I don't believe so.

[8] Q: Now, Doctor, smce the publication of certain papers
[9] that you have published, you have been sought out as a defense
[10] expert witness across the country, haven't you?

[11] A: Well, I don't know if I'd go that far, sir. I was
[12] acting as an expert consultant before and after the article.

[13] Q: Right. And Doctor, isn't it correct that since the
[14] publication of this article, certain defense lawyers, as a
[15] result of having read this article, have contacted you and told
[16] you that they read the article and they wanted to talk to you?

[17] A: Well, they wanted to submit a case to me. They
[18] didn't particularly want to talk to me.

[19] Q: Right. They wanted to have you review a case;
[20] correct?

[21] A: That's correct.

[22] Q: As a matter of fact, Doctor, that's happened quite
[23] frequently since these articles have been published; isn't that
[24] true?

[25] A: It certainly happens, sir.

Page 251

[1] Q: Doctor, do you remember any cases smce the
[2] publication of your article that you specfrically have
[3] testified in court on involving meningitis?

[4] A: Well, I know I have testified in court on cases in
[5] which merungitis was the subject matter since the arucle was
[6] published.

[7] Q: Do you remember the names of those cases, Doctor?

[8] A: Not specifically, no.

[9] Q: Do you remember who the expert witness was in any of
[10] those cases against you or on the opposite side, more
[11] correctly?

[12] A: Not specifically, no.

[13] Q: Oh. Do you remember, Doctor, Roger Barkin being an
[14] expert witness in a case involving meningitis in which you were
[15] an expert on the opposite side?

[16] A: No, not specifically. There was a case in North
[17] Carolina in wtuch the issue of merungus was, I think, at the
[18] core of the case, and I'm trying to recall whether Dr. Barkin
[19] was involved in that case or not, but he may have been. I
[20] don't remember specifically.

[21] Q: Do you remember any case in which, on the opposite
[22] side of you, Dr. Barkin was an expert in addition to the one
[23] you just described?

[24] A: Not in a case of meningitis, no.

[25] Q: Any case. I said.

Page 252

[1] A: Yes

[2] Q: What case or cases do you recall Dr Barkin was
[3] involved in that you were on the opposite side?

[4] A: One I recall was a case in Georgia involving a child
[5] who had a cardiac arrest in whch Dr Barkin was testifying for
[6] the plaintiff

[7] Q: And those are the only two that you recall Dr
[8] B a r h was an expert on the opposite side of you?

[9] A: Yes

[10] Q: And Doctor, the case in North Carolina Do you
[11] recall the opuouns that you gave in that case?

[12] A: No, not specfrically, not in a vivid way, no

[13] Q: And you don't have a copy of your deposition, do
[14] you, Doctor?

[15] A: No, I don't

[16] Q: You didn't keep your notes?

[17] A: Well, a deposition was never taken in that case

[18] Q: Excuse me, your trial testimony

[19] A: No, I never saw a copy of it

[20] Q: Do you remember the name of the plaintiff's attorney
[21] in that case?

[22] A: I think I do, but I can't think of it at the
[23] moment But I will think of it in the next half-hour

[24] Q: Okay How about the defense lawyer? Do you
[25] remember who that was?

Page 253

[1] A: No, I don't remember his name

[2] Q: How about, Doctor, the hospital or the defendant
[3] doctor?

[4] A: Oh, the name of the defense attorney was William
[5] Hagood.

[6] Q: Do you remember the name of the hospital?

[7] A: No, I don't.

[8] Q: Do you remember the name of the defendant?

[9] A: It's a name like Eiger or Eisner or a name that
[10] began with an E, but that's all I remember.

[11] Q: Now, Doctor, are you an attorney?

[12] A No.

[13] Q: Have you ever written anything involving what is, in
[14] fact, legal issues or principles that was published?

[15] A: Well, I wrote an article in which I introduced in a
[16] very brief way some of the legal aspects of medical malpractice
[17] as an introduction to or a review of the influence of
[18] antibiotics on serious infections in children and adults.

[19] Q: And did you do any legal research to determine what
[20] the legal aspects were that you were writing about?

[21] A Some.

[22] Q: And did what you wrote reflect what your
[23] understanding was of those legal principles?

[24] A: Yes.

[25] Q: And do they reflect accurately and honestly your

[10]

[22] Q: Do you remember the name of the lawyer?
[23] A: Yes, Jonathan Reis.
[24] Q: In St. Louis?
[25] A: Yes.

[22] **this** question the last time - have you ever written
[23] specifically on what "correlation" means?
[24] A: I have not written about correlation as **an** isolated
[25] issue.

Page 255

Page 257

[1] Q: Doctor, with regard to the papers you have written,
[2] have you ever written any article that, in fact, suggested that
[3] a patient in whom there was a suspicion of meningitis or
[4] bacterial meningitis has a possibility that the giving of
[5] antibiotics should be withheld?
[6] A: No.
[7] Q: Have you ever seen that written or published
[8] anywhere in any work of recognized authority?
[9] A: Yes.
[10] O: To withhold the antibiotics?
[11] A: Yes.
[12] Q: Where have you seen it written?
[13] A: There was a publication in the New England Journal
[14] of Medicine **in** which an investigation of the effects of
[15] corticosteroids on **meningitis** was disclosed, and the suggestion
[16] that came out of that article was that one should purposely
[17] delay the use **of** antibiotics until the corticosteroids could be
[18] given and have their effect in place before the antibiotics
[19] were given.
[20] In other places, there have been articles regarding
[21] the **timing** of antibiotics in children who are critically ill,
[22] and the outcome of that particular set of recommendations was
[23] that antibiotics were not emergency **drugs** and that
[24] stabilization of a patient was more important than giving the
[25] antibiotic.

[12] Q: The way you used it in the article **was** the way you
[13] used it in the article for your analysis?
[16] correlation in describing my findings.
[17] Q: And Doctor, for purposes of **this** case, do you use a
[18] different definition of "correlation"?
[19] A: No.
[20] Q: For purposes of any of your subsequent articles, do

Page 258

[1] **A** Again, I don't believe I defined "correlation" in
[2] that article, but I used "correlation" in a way that was
[3] appropriate for the article.
[4] **Q:** Now, Doctor, in one of your articles you describe
[5] three groups of patients. I'm referring to the article
[6] involving duration of symptoms and outcome in bacterial
[7] meningitis. Do you have that in front of you?
[8] **A:** Yes. I do.
[9] **Q:** That particular article, Doctor - in it there are
[10] basically three categories that are referenced or referred to
[11] of patients.
[12] **A:** In that article, based on what I found during my
[13] research and analysis, I defined three groups of patients with
[14] bacterial meningitis based on the syndrome of meningitis which
[15] each of them had.
[16] **Q:** So those three groups, Doctor - what I'd like to
[17] ask you to do is this. If I'm not incorrect, isn't it true
[18] that one of them is the fulminating kind; right?
[19] **A:** Fulminant, yes.
[20] **Q:** Correct?
[21] **A:** Fulminant, yes.
[22] **Q:** All right?
[23] **A:** Fulminant, yes.
[24] **Q:** Right. Fulminant.
[25] **A:** Yes. Not fulminating, but fulminant.

Page 259

[1] **Q:** Fulminant. And another one, Doctor, is where the
[2] disease is less than three to five days?
[3] **A:** No, the second category is made up of those patients
[4] in whom the diagnosis of meningitis was made preceded by
[5] general and nonspecific symptoms for less than three to five
[6] days.
[7] **Q:** Preceded by -
[8] **MR. GOLDBERG:** Would you read that back, please?
[9] (The record was read by the reporter.)
[10] **MR. GOLDBERG:** Thank you.
[11] **THE REPORTER:** You're welcome.
[12] **Q:** And the last category, Doctor?
[13] **A:** The last category was clinically overt meningitis.
[14] Or I may have used the word "clinically apparent meningitis."
[15] **Q:** Now, Doctor, in the context of this article,
[16] clinically overt - was this a phrase that, in the writing of
[17] this article, you coined yourself?
[18] **A:** Yes.
[19] **Q:** Do you make reference anywhere in the article that
[20] that was a phrase you coined and a description you were using?
[21] **A:** I didn't use any notation to say that it was a
[22] phrase that I had used for my own purposes, no. But I did
[23] describe the kinds of things I meant by it.
[24] **Q:** You did describe the kinds of things you meant by
[25] it; right?

Page 260

[1] **A:** Yes.
[2] **Q:** What page is it you described the kinds of things
[3] you meant by it?
[4] **A:** 696.
[5] **Q:** Is that in the right column?
[6] **A:** Yes.
[7] **Q:** After footnote 41? Is that the part that says for
[8] the final subgroup, those were clinically overt nonfulminant
[9] meningitis?
[10] **A:** No.
[11] **Q:** Where is it that you're referring to?
[12] **A:** Well, it's in the same paragraph, ten lines down
[13] from the beginning of the paragraph in the line beginning,
[14] "Clinically overt meningitis."
[15] **Q:** All right. Clinically overt meningitis, then,
[16] examples, stupor, coma, seizures, nuchal rigidity, bulging
[17] fontanelle; right?
[18] **A:** Correct.
[19] **Q:** Now, those were what you used as an example;
[20] correct?
[21] **A:** Correct.
[22] **Q:** Were there others that you didn't put in there,
[23] Doctor, or is that an exhaustive list?
[24] **A:** It's not an exhaustive list of words to try to
[25] describe that kind of patient. I could have used other words.

Page 261

[1] **Q:** What other words could you have used that you did
[2] not?
[3] **A:** Meaningfully depressed level of consciousness
[4] without other explanation. I could have used that phrase.
[5] **Q:** Depressed?
[6] **A:** Depressed or altered.
[7] **Q:** Or altered level of consciousness -
[8] **A:** Without another explanation.
[9] **Q:** Anything else?
[10] **A:** One can include altered mental status and clinical
[11] septicemia or shock. One could include certain cranial nerve
[12] abnormalities, such as unequal pupils, crossed eyes, or
[13] paralysis, things of that sort.
[14] **Q:** Have you given me all of the descriptions?
[15] **A:** Well, I'm trying to think of others that I might
[16] have used, but -
[17] **Q:** Take your time. I'm just trying - I don't see you,
[18] Doctor, so I don't know when you're finished or when you're
[19] thinking. So just, say, give me a word, or "I'm done," so I
[20] don't interrupt you.
[21] **A:** All right. Could have used the word "opisthotonus,"
[22] arching of the back. Those are all the words that occur to me
[23] right now, sir. But I may think up some as time goes on.
[24] **Q:** Okay. I understand. Now, in the context, Doctor,
[25] of these clinically overt signs that are on page 696, those

Page 262

[1] that would fall within clinically overt meningitis. your
[2] definition, would you agree that these are those which in other
[3] texts, articles and journals. may be described and defined as
[4] late manifestations of meningitis?

[5] A: I don't know. I'd have to see the article or the
[6] text.

[7] Q: Have you ever read or seen, Doctor, in any article,
[8] journal or text things described as early signs of meningitis?

[9] A: I think you asked me that already, and I don't have
[10] a memory of that, no.

[11] Q: Isn't it true, Doctor, that - are these symptoms,
[12] by the way, that we've just had you read? Stupor, coma,
[13] seizures, nuchal rigidity, bulging fontanelles? Are those
[14] symptoms?

[15] A: No.

[16] Q: What are they?

[17] A: They're signs.

[18] Q: These are signs; right? What are symptoms?

[19] A: Symptoms are pieces of historical information
[20] related to the clinician.

[21] Q: Give me an example. What are symptoms of
[22] meningitis, bacterial or otherwise?

[23] A: Well, a symptom would be something like a parent
[24] saying, "Johnny cries every time I pick him up." That would be
[25] a symptom.

Page 263

[1] Q: Any others, Doctor?

[2] A: Well, you know, the list can go on and on, but the
[3] idea is that they're describing behaviors or things that
[4] they're seeing to the clinician without putting a particular
[5] name on it. For example, "Johnny won't wake up."

[6] You examine Johnny, and you say, "Johnny's in
[7] coma."

[8] It's historical observations or experiences related
[9] to the clinician.

[10] Q: Is irritable, fretful, restless, the kind of things
[11] that could fall within symptoms?

[12] A: They are symptoms, but they're nonspecific and
[13] general symptoms.

[14] Q: But are they nevertheless symptoms that could be
[15] reported historically with a parent who has a child later
[16] diagnosed as having meningitis?

[17] A: Yes.

[18] Q: Now, Doctor, in this particular article that we've
[19] got in front of us, is it your opinion that anyone reading it
[20] would be expected to assume the categories or additional
[21] examples that you have given me that should be added to
[22] describe clinically overt?

[23] A: Yes.

[24] Q: And would you agree that a meaningfully depressed or
[25] altered level of consciousness would be an important category?

Page 264

[1] MS. McDONALD: Without other explanation?

[2] A: Well, you understand, sir, I put down those words as
[3] mere examples, not to put down an exhaustive list. The article
[4] itself is not about how to diagnose meningitis. It's an
[5] article trying to investigate the issue of the timing of
[6] antibiotics and outcome. Had I been writing an article about
[7] meningitis, I would have given more specific attention to the
[8] use of words and descriptions. All I sought to do here was to
[9] give people that idea of what I meant by clinically overt
[10] meningitis. Since I was writing for a medically sophisticated
[11] audience, I felt that examples such as the ones included there
[12] were sufficient for that purpose.

[13] Q: And the one that should be also considered by the
[14] sophisticated audience, but which are not written, would
[15] include meaningfully depressed or altered level of
[16] consciousness without any other explanation; correct?

[17] A: Again, in one form or another. Maybe not that
[18] particular phrase, but in one form or another, that kind of
[19] thing would occur to the audience reading this particular
[20] article, yes.

[21] Q: Looking at the first paragraph, Doctor, on page 694,
[22] is it correct today that bacterial meningitis still is the most
[23] destructive of acute infections in normal individuals?

[24] A: Yes.

[25] Q: And Doctor, in that second paragraph where you

Page 265

[1] write, halfway into the paragraph, "However, the question of
[2] whether a delay in initiating antimicrobial therapy actually
[3] increases the risk of sequelae has not been answered." Is that
[4] true today, as well?

[5] A: No.

[6] Q: It is not true today?

[7] A: Correct.

[8] Q: How has it been answered?

[9] A: It was answered in my article and the subsequent
[10] studies that validated the article.

[11] Q: So this paper validates that?

[12] A: This paper answers the question, and the conclusions
[13] of this paper have subsequently been validated.

[14] Q: What papers specifically validate the question that
[15] is posited here, Doctor?

[16] A: The two that you have in your possession, sir.

[17] Q: Tell me which two those are.

[18] A: Those are the 1993 article from the Pediatric
[19] Infectious Disease Journal.

[20] Q: Their numbers, Doctor?

[21] A: Well, I don't have them in front of me. As you
[22] know, sir, you didn't fax them to me.

[23] Q: Oh, the two that you don't have at all.

[24] A: That's correct.

[25] Q: "The effect of a recent previous visit to a

Page 266

[1] physician on outcome after childhood bacterial meningitis"?

[2] A: Yes.

[3] Q: That's one paper that you're referring to? And the
[4] other paper, "Outcomes of bacterial meningitis in children, a
[5] meta-analysis"; correct?

[6] A: No.

[7] Q: Huh?

[8] A: No, I don't believe that's the paper at all. It's
[9] the 1993 paper by Kilpi. If I'm not mistaken, as the lead
[10] author, about duration -

[11] Q: I don't have any other articles other than the ones
[12] we've just gone through. I was given and I have marked them,
[13] 10, 11, 12, 13, 14, Doctor. Where is the article by Kilpi that
[14] you're referring to, then?

[15] A: I thought I had sent it off to Ms. McDonald, a 1993
[16] article in the Pediatric Infectious Disease Journal.

[17] Q: Do you have it there?

[18] A: No, I don't.

[19] Q: By Kilpi?

[20] A: I believe so.

[21] Q: Spell it, please.

[22] A: I believe it's K-EL-P-I.

[23] Q: I don't have it here, Doctor.

[24] A: Let me see if the exact reference is included in my
[25] 1994 article. Yes. If you'll look at the article that I

Page 267

[1] published in 1994, the timing of antimicrobial therapy.

[2] Q: One second. Doctor. I'm trying to get **this** - yes,
[3] **sir**.

[4] A: If you look at references 12 and 13.

[5] Q: Footnotes, are you referring to?

[6] A: No, references at the end.

[7] Q: Yes, the references. 12 and 13?

[8] A: Right. That's actually one study published in two
[9] segments, and the segment I'm referring to is segment or
[10] reference 13, by Kilpi.

[11] Q: Do you have that article with you now?

[12] A: No, I don't. I thought I had sent it to Ms.
[13] McDonald. If I **didn't**, the error was mine and I'll send it
[14] later in the day.

[15] Q: Would you be good enough, Doctor - I asked that **all**
[16] the articles that you relied on or that you believe support
[17] your position would be forwarded, and if it was inadvertent one
[18] way or the other, as long as I have it, it's fine. But I'll
[19] read what I have in front of me, okay?

[20] A: That would be fine.

[21] Q: Number 13, Kilpi, T., and then it **has** another name,
[22] Anttila, M., Kallio, and the article's name is "Length of
[23] prediagnostic history related to the course and sequelae of
[24] childhood bacterial meningitis," Pediatric Infectious Disease
[25] Journal, 1993.12/1, 84 through 88?

[10] for it.

[11] Q: Have you ever, Doctor, taken any steps to write to
[12] the publisher or to amend in any way that article to include or
[13] change in the fashion you described?

Page 270

[1] A: I learned that from textbooks and articles on
[2] statistics, sir.
[3] Q: So there are textbooks and articles dealing with
[4] this very type of subject, aren't there?
[5] A: No. Well, there are articles. Actually, there are
[6] textbooks dealing with what is meant by strength of an
[7] association.
[8] Q: Are you a statistician?
[9] A: No.
[10] Q: Now, Doctor, with regard to the various studies.
[11] they are described in here in the article that were analyzed;
[12] correct!
[13] A: Correct.
[14] Q: Does the Kilpi article which I was not supplied
[15] with - which I'm marking as Exhibit 17, although it's not
[16] here - does it have new or different or additional studies
[17] that it incorporates?
[18] A: Yes.
[19] Q: When were those studies done?
[20] A: The study was published in 1993.
[21] Q: But is the data for that paper on the basis of new
[22] studies either retrospective or prospective?
[23] A: Yes.
[24] Q: When were those studies done?
[25] A: I'd have to review the article to give you an

Page 271

[1] accurate answer.
[2] Q: You don't remember?
[3] A: No.
[4] Q: How many people are the subject of those studies?
[5] A: I'll have to review the article to give you an
[6] accurate answer.
[7] Q: The answer is you don't know; correct?
[8] A: Yes, that's correct, sir.
[9] Q: Who is Dr. Kilpi?
[10] A: He's a physician in Finland.
[11] Q: Have you done any research with him directly?
[12] A: No.
[13] Q: Did you have the raw data that he used that is the
[14] subject of this article or study?
[15] A: No.
[16] Q: Was it a retrospective or prospective study?
[17] A: Prospective.
[18] Q: Did you see the protocol that was used for the
[19] prospective study?
[20] A: No.
[21] Q: Do you know if there was one?
[22] A: Well, only to the extent that it was described in
[23] the methods section of the article.
[24] Q: Was this a human study?
[25] A: Yes.

Page 272

[1] Q: What were the drugs and what were the purposes of
[2] the study?
[3] A: The study, as I recall, was part of an overall
[4] effort to more clearly delineate meningitis in many aspects
[5] that was coordinated through the University of Helsinki and
[6] involved all pediatric centers in Finland. It was collected
[7] prospectively, out of which a number of papers have been
[8] published, that one being an example of such a paper.
[9] Q: And am I to understand that you are relying upon
[10] this paper to support the position you are taking?
[11] A: Correct.
[12] Q: Is there any other paper, other than the Kilpi paper
[13] and the other Kilpi paper, Exhibit 14, that we've marked, that
[14] supports, in your opinion, your position?
[15] A: Well, there's the other paper from the same group
[16] published in 1994, and those are the two validating papers for
[17] the results which I reached in my paper of 1992.
[18] Q: What is the paper in 1994? Where is that footnote
[19] or reference?
[20] A: Well, you have a copy of it.
[21] Q: You're talking again about "The effect of a recent
[22] previous visit to a physician on outcome after childhood
[23] bacterial meningitis"?
[24] A: Correct.
[25] Q: And you have that paper there, do you not, Doctor?

Page 273

[1] A: No, I do not.
[2] Q: I'm sorry, that's right. Forgive me, Doctor, I'm
[3] going to read to you from that paper, article 14, and ask
[4] whether you agree with this statement as being correct.
[5] A: I would prefer a copy of the paper, sir, before
[6] doing that. You said you would fax it to me.
[7] Q: I appreciate that, but I'm going to read to it to
[8] save time anyway, and you'll be faxed a copy.
[9] "No clinician would intentionally defer prompt
[10] diagnosis and treatment of bacterial meningitis, but
[11] occasionally a child in whom bacterial meningitis has not yet
[12] developed or become clinically detectable will be sent home.
[13] When recommending home treatment of a febrile child, the
[14] physician should never forget to advise the patient to bring
[15] the child back immediately if the child's condition worsens or
[16] does not improve within a given time."
[17] If I have read it correctly, is that a correct
[18] statement you would agree with?
[19] A: I would agree with that.
[20] Q: Yes?
[21] A: Yes.
[22] Q: Let's take five minutes. The court reporter has
[23] been going, and then we'll be back. Shall we hang up the
[24] phone, do you think, or call back?
[25] I'm going to get the faxes together. Why don't you

Page 274

[1] get your faxes together. It's five to 12:00. It's Eve to
[2] 11:00 where you are. Let me go farther and we'll take a
[3] break.
[4] Can you do this, Doctor? The Kilpi article that
[5] you're referring to - you say it's at your office. How far is
[6] your office from where we are. or you are now?
[7] A: About half an hour.
[8] MR. GOLDBERG: Yeah? And Ms. Court Reporter. I
[9] gave you items 1 through 15 - or rather 10 through 15 today,
[10] right?
[11] THE REPORTER: Yes, sir.
[12] MR. GOLDBERG: And 15, just to be sure. was 'The
[13] outcomes of bacterial meningitis in children, a meta-analysis';
[14] correct?
[15] (A discussion was held off the record.)
[16] Q: We'll leave it at 17. You have the errata sheet
[17] there. so you'll send me the errata sheet, which is 16, and I
[18] will send you copies of 14 and 15. And we'll get back to you
[19] within the next ten minutes.
[20] A: Do we have your fax number?
[21] Q: I'm going to give it to you now. Thank you.
[22] (A recess was taken.)
[23] Q: Doctor?
[24] A: Yes, sir.
[25] Q: Are you ready to proceed?

Page 275

[1] A: Yes.
[2] Q: Okay. I'm going to proceed, and reserve my right on
[3] Exhibit 17. As I said, we're going to proceed, however. And
[4] Exhibit 16 Ms. McDonald and I don't have, so we'll go on from
[5] there.
[6] In the article. Doctor. I note it states, among
[7] other things -
[8] A: I'm sorry, sir, I don't know which article you're
[9] speaking of.
[10] Q: Thank you. The one where we're going - "Duration
[11] of symptoms and outcome of bacterial meningitis." Your 1992
[12] article.
[13] A: Yes, I have it.
[14] Q: You make a statement on page 696 under the heading
[15] "Interpretation of meningitis data," and I read it to you.
[16] It says, "All existing studies examine only the duration of
[17] symptoms. not the duration of meningitis." Do you see that?
[18] A: Yes.
[19] Q: I take it one of the things, among other things, it
[20] may also mean is that it is your belief that no one knows when
[21] meningitis begins; correct?
[22] A: Correct. Now, I say correct, given the discussion
[23] we had during my first deposition as to the vagaries of
[24] defining "beginning of meningitis."
[25] Q: Right. Now, is it correct also that the existing

Page 276

[1] studies that were the subject of this review examine only the
[2] duration of symptoms and not the duration of meningitis?
[3] A: That's correct.
[4] Q: And do you agree with what's written here, "And
[5] experience suggests that onset of general symptoms is likely to
[6] be a poor approximation of the onset of meningitis itself?"
[7] A: Yes.
[8] Q: Now, Doctor, is it correct that, getting back to
[9] these categories that you were talking about, can we refer to
[10] them as 1, 2 and 3?
[11] A: I don't know what 1, 2 and 3 refer to.
[12] Q: Well, I'll give them to you in the way that you went
[13] through them. One was less than three to five days with
[14] general nonspecific signs.
[15] A: I think it's general and nonspecific symptoms.
[16] Q: Symptoms. And symptoms in the context of what you
[17] defined symptoms to mean a little while ago; right?
[18] A: Correct.
[19] Q: So symptoms in that context is different than signs;
[20] correct?
[21] A: Correct.
[22] Q: And, Doctor, the second one is fulminant; right?
[23] We'll use 2 as fulminant?
[24] A: That's fine.
[25] Q: The third one will be clinically overt meningitis;

Page 277

[1] correct?
[2] A: That's fine.
[3] Q: And clinically overt meningitis is one that has
[4] signs in keeping with what you so far today testified; all
[5] right?
[6] A: That's fine.
[7] Q: All right. That being the case and with that as a
[8] working stepping stone, which, in your opinion, is the category
[9] that you put Mark Turner in?
[10] A: Category 1.
[11] Q: Would you be good enough, please, to tell me the
[12] reasons why you believe it's category 1, giving me all the
[13] reasons?
[14] A: Well, the reason is very simple. He had an illness
[15] which was characterized by fever, irritability, used as a
[16] phrase in the notes, fretfulness. Another one used in the
[17] notes, discomfort, but no signs of clinically overt meningitis
[18] until the 7th, at which time a spinal tap was performed based
[19] on a finding which is considered to be a sign of clinically
[20] overt meningitis. Therefore, he fell into category 1 as having
[21] an illness which was proven to be meningitis preceded by
[22] general and nonspecific symptoms.
[23] Q: Is the sign that you are referring to the bulging
[24] fontanelle?
[25] A: That's correct.

Page 278

Page 280

[1] Q: It's the fontanelle that you're referring to as a
[2] sign, right? Whatever the record says'
[3] A: Whatever the record says
[4] Q: Now, Doctor, what is the significance of it being
[5] less than three to five days?
[6] A: The significance is that there is not enough data
[7] from human experience on which a firm opinion can be
[8] established for general and nonspecific symptoms lasting longer
[9] than five days. And therefore, in the context of my article, I
[10] was not able to amass enough information on which to express an
[11] analysis for that category
[12] Q: Does the fact of how long the symptoms appear - if
[13] it's less than three or more than five days, does that have any
[14] bearing one way or the other?
[15] A: Well, in general, the duration of symptoms prior to
[16] the diagnosis of meningitis does not seem to predict outcome
[17] Q: Outcome insofar as whether it will or will not
[18] become meningitis?
[19] A: No, outcome in terms of neurological injury in a
[20] child who has meningitis.
[21] Q: But does it have anything to do with predicting
[22] whether or not the child who has these general and nonspecific
[23] signs may go on to be confirmed as having meningitis?
[24] A: I don't exactly understand your question.
[25] Q: There's two separate items that I'm looking at and

[1] whether the patient who has these symptoms will, in fact, be
[2] diagnosed as having meningitis?
[3] A: Not to my knowledge
[4] Q: So that there are patients in whom these general and
[5] nonspecific symptoms - one of two things may happen. They may
[6] get meningitis or not get meningitis, in the context of our
[7] discussion, correct?
[8] A: Well, actually, one of three things can happen
[9] They can go on to get meningitis, they can go on to develop
[10] another focal illness, or they may get better on their own
[11] Q: But in the context of meningitis, Doctor, what I'm
[12] merely trying to stay with is, these general and nonspecific
[13] symptoms may never materialize into meningitis in that patient
[14] who has the symptoms, or it may go on to be meningitis,
[15] correct?
[16] A: Correct
[17] Q: With that in mind, is there any way to - have you
[18] seen any study that relates or tries to relate these
[19] generalized and nonspecific symptoms as to what percentage of
[20] children go on to get meningitis?
[21] A: No.
[22] Q: If that be the case, Doctor, that you can't take and
[23] predict whether these children will or will not go on to get
[24] meningitis, would you agree that where these general and
[25] nonspecific symptoms exist, one at least would be alerted to

Page 279

Page 281

[1] you're looking at, I know, at least one. You're looking as to
[2] whether the outcome will be whether the individual has a
[3] certain clinical picture: correct?
[4] A: In my analysis, I looked at outcome to mean
[5] permanent neurological injury following meningitis.
[6] Q: Permanent central nervous system damage of one type
[7] or another?
[8] A: That's correct. And I include death in that
[9] category.
[10] Q: Okay. That's what you mean by "outcome", right?
[11] A: Yes.
[12] Q: And that's how you're using whether or not these
[13] general and nonspecific signs are there three to five days;
[14] right?
[15] A: Yes. They're symptoms that are there for less than
[16] three to five days.
[17] Q: And my question is, is there any correlation or any
[18] way to know whether those symptoms may, in fact, be at the time
[19] meningitis, leaving aside the predictability of outcome by your
[20] definition?
[21] A: Well, as we've already stated - and I think you
[22] even read out the portion of my article that refers to it - in
[23] general, the duration of symptoms does not allow one to predict
[24] when meningitis begins
[25] Q: But does it have to do with anything with predicting

[1] the fact that such a patient may end up with meningitis?
[2] A: Yes.
[3] Q: With regard, Doctor, to those symptoms, using the
[4] definition you have given me, what are the symptoms from all
[5] the records that you have reviewed and the histories that you
[6] personally have been involved with that were present to a
[7] historian - being a nurse, a doctor, or a combination of the
[8] two - in a patient who later was diagnosed as having symptoms
[9] had?
[10] A: I didn't understand that at all.
[11] Q: In a patient who is subsequently diagnosed with
[12] meningitis, from your own experience directly, what are the
[13] symptoms that were told to either the doctor or the nurse, or
[14] both, before it was diagnosed?
[15] A: Well, the universe of symptoms that are related
[16] prior to the diagnosis of meningitis being made are all the
[17] ones that we talked about. They can be nonspecific symptoms,
[18] general symptoms of the sort that we've discussed, or they can
[19] be very specific symptoms which relate directly to clinically
[20] overt meningitis when the child is subsequently seen. So it
[21] can run the gamut.
[22] Q: Well, I'm glad you said it that way, because it
[23] makes it easier for me. Is it correct that these specific
[24] symptoms may, in fact, later turn out to, in the same patient
[25] that has these, have what you have described as clinically

Page282

[1] overt **signs**?
[2] **A:** Yes.
[3] **Q:** Yes?
[4] **A:** That a chdd - you'll get hstorical information
[5] from a family, and you may conduct an examination, and based on
[6] your examination, you conclude the child has Clinically overt
[7] meningitis.
[8] **Q:** Well, Doctor, I want to stay with the symptoms and
[9] the signs. The symptoms that you earlier described and
[10] defied. stupor, coma, seizures, nuchal rigidity, bulging
[11] fontanelle, meaningfully altered - depressed or altered level
[12] of consciousness, that whole litany. Are you with me?
[13] **A:** Sure.
[14] **Q:** Those are things that I take it may occur in the
[15] normal progression of **this** disease as the disease process
[16] develops?
[17] **A:** No. I wouldn't use the word "progression," sir.
[18] **Q:** You would not?
[19] **A:** No.
[20] **Q:** Doctor, is there a point at which you consider
[21] meningitis medically to be in existence in any given patient?
[22] **A:** Well, the absolute answer to your question is,
[23] meningitis is in existence when the spinal tap tells you so.
[24] **Q:** I appreciate that. But what I'm trying to do,
[25] Doctor, is something a little more exact. Before the spinal

Page283

[1] tap tells you, a patient can **still**, nevertheless, in truth,
[2] medically have meningitis; correct?
[3] **A:** A child can have clinically overt meningitis prior
[4] to a spinal tap being performed.
[5] **Q:** You choose to use the phrase "clinically overt
[6] meningitis" and I don't. I'm asking you whether a patient,
[7] even such as Mark Turner, to be even more exact, if you were to
[8] have done the spinal tap five seconds sooner, before the spinal
[9] tap, would you say that Mark medically had in truth had
[10] meningitis?
[11] **A:** Well, it is my opinion that a spinal tap performed
[12] five seconds earlier also would have been diagnostic of
[13] meningitis, and I presume that five seconds earlier the same
[14] physical findings which led the physicians to perform the
[15] spinal tap would have been there.
[16] **Q:** What I'm trying to then differentiate, Doctor, in a
[17] very exact way, is this. However long before the spinal tap is
[18] done, you're saying that the physical findings which led the
[19] person to perform the spinal tap would be there. **Is** it your
[20] opinion that those **signs** are what makes meningitis be in
[21] existence, or some pathophysiological process in the body
[22] taking place?
[23] **A:** What I'm saying **is this:** A patient has the physical
[24] findings which suggest meningitis, clinically overt
[25] meningitis. That's a clinical judgment. One **is** then obligated

Page 284

[1] to perform a spinal tap, which may or may not confirm the
[2] diagnosis of meningitis. If it confirms the diagnosis of
[3] meningitis, then there was a congruence between the physical
[4] appearance, the clinical judgment, and the biological fact. If
[5] it refutes the diagnosis of **meningitis**, then the child still
[6] had the same clinical appearance and the judgment of the
[7] physician was the same, but it was not confirmed.
[8] **Q:** Now, doesn't that nevertheless mean, Doctor, that in
[9] a patient - if the doctor doesn't see the signs or symptoms,
[10] if a doctor doesn't see it or misdiagnoses what's present,
[11] Doctor, just as the tree falling in the forest has to be heard,
[12] is the question that's been posited, is it the doctor seeing
[13] the signs and symptoms that then cause **him** to do the test and
[14] confirm it, that means diagnosis of meningitis is present? Or
[15] **is** it that there's a disease process in reality in the human
[16] body that is there that means the patient has meningitis?
[17] Which is it?
[18] **A:** I'm sorry, sir. I got lost. The physician will not
[19] perform a spinal tap unless they feel that the person
[20] potentially has meningitis.
[21] **Q:** I appreciate that, Doctor.
[22] **A:** Now, people can have meningitis without seeing a
[23] physician. They can have meningitis with a physician not
[24] seeing the overt signs of meningitis and therefore not
[25] performing the spinal tap. One can have a **spinal** - one can

Page 285

[12] it. It's **important** when does the patient medically have the
[13] problem, irrespective of someone knowing it?
[14] **A:** Once the patient has the clinical findings, which a
[15] physician could see and make the clinical diagnosis of
[16] meningitis, if he were to be there, **that's** when the patient had
[17] clinically apparent meningitis.
[18] **Q:** I understand **that**, Doctor. I'm right with you, and
[19] I fully understand it. But that's not **what** I'm talking about.
[20] **A:** Then I'm sorry, I'm -
[21]

Page 286

[1] A: Well, we had **this** discussion last time, and I think
[2] you'll recall that my own viewpoint is that biological
[3] meningitis, if one would like to use that phrase, is present
[4] when there are the combination at the same time of bacteria and
[5] an inflammatory response to the bacteria.
[6] Q: Okay. So what I was just asking you about, you
[7] would define biologic meningitis being present with those
[8] criteria being met?
[9] A: That's correct.
[10] Q: Okay. And Doctor, have you ever, in books such as
[11] Feigin and Cherry, Dr. Klein's articles or literature,
[12] recognized arachnoiditis in Pediatrics, Pediatric infectious
[13] Disease - have you ever seen a definition of meningitis
[14] indicating that when the pathogen, whichever offending organism
[15] it may be, invades the meninges, as being the time when they
[16] say the patient has meningitis?
[17] A: I don't recall that specifically.
[18] Q: And if I were to read to you from articles that said
[19] it from recognized authorities that you define as well known,
[20] would you agree or disagree with their works on that point?
[21] A: Well, I think you now know what my own viewpoint is
[22] on that, and to the extent that someone does not reflect that
[23] viewpoint, I would not be in concurrence. But of course, these
[24] are definitional items which have no clinical significance, and
[25] therefore, it's not a subject on which a large amount of energy

Page 287

[1] is spent trying to clarify or contend with.
[2] Q: Okay. That being the case, and going on with my
[3] point, I would like you to do **this** for me, please. I want you
[4] in a hypothetical model of a patient, otherwise normal human
[5] being, six months of age, with all the characteristics from
[6] birth of Mark Turner, hypothetically assume a patient in fact
[7] at six months develops biologic meningitis. Are you with me?
[8] A: Sure.
[9] Q: And I mean at the very moment of creation, if you
[10] will. The very moment of inception of the seeding of the
[11] meninges. Are you with me? There is such a time in reality,
[12] isn't there?
[13] A: I don't know, sir.
[14] Q: Well, are you suggesting that there isn't a time
[15] when the pathogen invades the meninges?
[16] A: Well, I think we discussed **this** last time.
[17] "Invasion" is not the right word.
[18] Q: What would you like to use?
[19] A: And "seeding" is not the right word.
[20] Q: What is the phrase or word you would personally like
[21] to use?
[22] A: The truth seems to be that bacteria enter the spinal
[23] fluid space, and I would presume in a theoretical way, which
[24] has no clinical significance, there is a moment in which the
[25] first bacteria appears in the spinal fluid space.

Page 288

[1] Q: All right. Let's stay with that. When that takes
[2] place, is that when biologic meningitis is in existence?
[3] A: No.
[4] Q: When is it?
[5] A: It's when you have the combined presence of the
[6] bacteria along with an inflammatory reaction.
[7] Q: All right. Now, is there any time period that you
[8] can cite me to, any article, journal, any text, that suggests
[9] or hypothesizes or confirms how long after that first bug, if
[10] you will, is in place in the fluid before **this** presence of an
[11] inflammatory response will take place? Are we talking seconds,
[12] minutes, hours, days, week, months, years? What?
[13] Hello?
[14] A: I'm trying to think of an answer to your question.
[15] Q: I'm sorry. I couldn't tell if we were disconnected,
[16] Doctor. There was a silence. I'm sorry.
[17] A: I'll try and breathe heavily into the microphone so
[18] you'll know I'm here.
[19] Q: I just didn't know if you were there or what's going
[20] on.
[21] A: I don't think that that information is available,
[22] but I'll tell you what is available.
[23] Q: What is? Wait a second. What I have just asked
[24] you, you have no opinion on, because you don't think that
[25] information is available; correct?

Page 289

[1] A: Well, I'm going to let you know what is available.
[2] Q: What is available?
[3] A: There's no human information, obviously.
[4] Q: Yes.
[5] A: From animal studies, what is known is that there are
[6] animals who will have the entry of bacteria into the spinal
[7] fluid space, will clear the bacteria from the spinal fluid
[8] space, and will never become ill, and will never develop
[9] meningitis. That is known.
[10] It is known that the speed with which the
[11] inflammatory reaction occurs following the presence of bacteria
[12] in the spinal fluid space differs from animal to animal, and
[13] that that difference can be a difference of hours to days. But
[14] the distribution of that inflammatory reaction over time and
[15] the outer limits of that distribution are not known.
[16] Q: Now, if I understand what I have read, both by you
[17] and others on this point, you cannot accurately take animal
[18] studies and predict how they will be in humans.
[19] A: There are appropriate cautions in trying to apply
[20] animal data to humans, depending on what the data are and what
[21] the question asked is.
[22] Q: Okay.
[23] A: Sometimes it can be applied quite well. Sometimes
[24] it cannot be applied at all. Sometimes if it's all that you
[25] have, you need to incorporate what is known from animals into

{1} an overall opinion in human beings for lack of anything else to
{2} base an opinion on.

{3} Q: What is the **animal**, Doctor, that for the purposes of
{4} what we're talking about is useful? Is it the monkey, is it
{5} the **pig**? Is it the mouse? What is it?

{6} A: It's the rhesus monkey.

{7} Q: It is, isn't it? And the rhesus monkey would be the
{8} closest that you could come up with. right?

{9} A: Yes.

{10} Q: Is the rhesus monkey, in your opinion, one that you
{11} can take data from it and apply it to human beings, in your
{12} opinion?

{13} A: It depends on what the data are and it depends what
{14} the question is.

{15} Q: Is there any data on meningitis and when it, in
{16} fact, biologically occurs and how long it will be before
{17} inflammation takes place on rhesus monkeys?

{18} A: Yes.

{19} Q: How long does it take place?

{20} A: The answer to your question is the answer I just
{21} gave a moment ago. The answer I gave a moment ago is derived
{22} from rhesus monkey studies.

{23} Q: But you didn't say **this**. So what you were referring
{24} to is the data from the rhesus monkey studies; right?

{25} A: That's correct.

{1} Q: And Doctor, therefore, it can be different from
{2} animal to animal and from hours to days, and the outcome could
{3} be - no one knows the outer limit; right? As you said it?

{4} A: What I said is what I said, yes.

{5} Q: In that context, Doctor, in the hypothetical person
{6} that I was asking you to describe, a person of Mark's age, when
{7} I asked you, once the pathogen was in the spinal fluid, how
{8} long before an inflammation would take place, I take it are you
{9} telling me, therefore, as you sit here now, you have no opinion
{10} how long before the **inflammation** would take in a patient such
{11} as Mark Turner after the pathogen's in the spinal fluid? **You**
{12} **have** no opinion one way or the other?

{13} A: I don't **think** an opinion could be expressed with any
{14} accuracy.

{15} Q: So it wouldn't be reasonably certain? Is that what
{16} you're saying?

{17} A: That's correct.

{18} Q: Do you have an opinion, based on a reasonable
{19} medical surgical probability in Mark's case how much time it
{20} was after that first biological moment when the pathogen
{21} entered the spinal fluid, occurred, until the **inflammation**
{22} occurred?

{23} A: No. Again, I don't **think** that that can be known to
{24} the point that someone can testify to it with a reasonable
{25} degree of probability.

{1} Q: Do you have any opinion as to when the pathogen
{2} entered the spinal fluid. Hemophilus influenzae type B entered
{3} the spinal fluid?

{4} A: I don't know.

{5} Q: Doctor, in carrying forward, in a patient in whom
{6} biologic meningitis is present, do you have any opinion as to
{7} how long it would be before that patient would experience
{8} things - I'm talking about a child **six** months of age - that
{9} to a parent or to a physician or a nurse, symptoms to the
{10} seeing and learned eye would appear? Or would that also be
{11} variable and something which you couldn't be specific on?

{12} A: I don't know the answer to the question.

{13} Q: Is there such a thing, Doctor, as a patient in whom
{14} biologic meningitis is in existence from the moment that it
{15} occurs, and then however long it takes for the **inflammation** to
{16} appear, that could go on to that process taking place and
{17} giving rise to death in which there would be no symptoms or
{18} **signs** to seeing learned, responsible caretakers who are
{19} watching from second to second? Could it be silent?

{20} A: Well, now, you understand there are **two** kinds of
{21} findings. There are general and nonspecific findings on the
{22} one hand, and then there are those that are indicative of
{23} clinically overt meningitis on the other hand. I assume -
{24} well, I'm convinced that the person would have some general and
{25} nonspecific signs of illness, but I **am** assuming, without any

{16} overt signs would more probably than not follow the general and
{17} nonspecific symptoms?

{18} A: Yes, but the interval between the two of them must
{19} differ from person to person.

{20} Q: Do you have any opinion as to why the symptoms,
{21} general and nonspecific within the definitions we've used, the

Page 294

[1] A: The signs of clinically overt meningitis depend on
[2] biological processes that usually follow the induction of fever
[3] in a patient, and inasmuch as fever is a general and
[4] nonspecific finding, it would precede any of the more
[5] characteristic signs associated with meningitis.
[6] Q: Do you have an opinion as to what it is about fever
[7] in that what it permits, or does that have anything to do with
[8] the development of meningitis taking place?
[9] A: I don't believe fever itself, one way or the other,
[10] aids or abets the process of meningitis occurring.
[11] Q: Why, then, does fever appear, nevertheless, in the
[12] context of your last answer?
[13] A: Because fever is a general nonspecific biological
[14] response to the interaction between bacteria and white cells,
[15] and as such, that interaction would precede the formation of
[16] enough inflammation to cause the person to have signs that
[17] indicate meningitis.
[18] Q: Is it your opinion, and have you ever read or
[19] written on this point, that one generally would look to when a
[20] patient spiked a fever to try to determine when it was that the
[21] pathogen entered the meninges or the spinal fluid?
[22] A: I have never written that.
[23] Q: Have you ever seen it written?
[24] A: I don't remember seeing it written, but it's an
[25] untrue statement.

Page 295

[1] Q: It is? What is the true statement as to why the
[2] fever occurs in relation to this process?
[3] A: The true statement is that fever is present prior to
[4] the appearance of clinical meningitis, but that a fever spike,
[5] per se, or the onset of fever is not necessarily a marker of
[6] when the central nervous system inflammation takes place.
[7] Q: Is it a marker when bacteremia takes place? Have
[8] you ever seen or read that or wrote that?
[9] A: Again, if one defines bacteremia as the presence of
[10] bacteria in the blood stream, one could biologically argue that
[11] fever must come after bacteremia occurs, because it requires
[12] the interaction between those bacteria and white blood cells.
[13] Q: In the context of biologically: correct?
[14] A: That's correct. But of course, this is all
[15] suppositions based on biology of the disease. The thing has
[16] not and cannot be studied in human beings.
[17] Q: In the context of the definition of biologic sense,
[18] look at page 695 of the article we just have been going
[19] through.
[20] A: All right.
[21] Q: You'll find where it says, "To many, the application
[22] of biologic sense seems persuasive."
[23] A: I see it.
[24] Q: Is that what you, in the writing that I have just
[25] read, mean to be the same biologic sense that you just talked

Page 296

[1] about in the deposition? I mean, does biologic sense mean in
[2] this article, in that paragraph, the same thing you mean,
[3] biologic sense in the way we're talking about it now?
[4] A: Yes, it means arguing from a knowledge of biology
[5] without any proof that what you have just said is true
[6] Q: And that's the best we can do, isn't it?
[7] A: In certain areas, it's all one has. But it may be
[8] false.
[9] Q: Or it may be correct
[10] A: That's correct
[11] Q: And Doctor, in this article on page 695, it says,
[12] "To many, the application of biologic sense seems persuasive
[13] "There does occur in clinical medicine a feeling for the
[14] biology of the system, the gestalt that the longer a disease
[15] goes on, the more severe it is and the more severe the
[16] sequelae."
[17] And I'm now going to end the quote of what I'm
[18] quoting from the article. Is that the kind of biological sense
[19] you're referring to, Doctor, a gestalt that physicians come
[20] to have with experience and knowledge?
[21] A: Well, it is in the context of the article. What you
[22] and I were just discussing was much more detailed than an
[23] intuitive feeling or a biological gestalt. We were actually
[24] trying to put together a scenario based on biology as to how
[25] things actually happened. It's more detailed than just a

Page 297

[1] general feeling.
[2] Q: Well, dropping down, Doctor, it says, "The argument
[3] to support this intuition includes the knowledge that a large
[4] quantity of organisms or antigens in the initial cerebral
[5] spinal fluid appears to correlate with a worse outcome in
[6] bacterial meningitis." You see that, Doctor?
[7] A: Yes.
[8] Q: The intuition includes the knowledge that a large
[9] quantity of organisms or antigen is in the cerebral spinal
[10] fluid. That is in keeping with what we're talking about, isn't
[11] it?
[12] A: No.
[13] Q: Aren't we talking about the offending organism being
[14] in the spinal fluid?
[15] A: Yes, but we weren't talking about the quantity.
[16] Q: No, I'm not - we're talking about like and like.
[17] We're talking about organism being in spinal fluid, and a
[18] response taking place; correct?
[19] A: Well, that sentence doesn't have anything about the
[20] response.
[21] Q: Well, is an antigen a response of the body, Doctor,
[22] to a foreign organism?
[23] A: No.
[24] Q: It is not? What is an antigen, Doctor?
[25] A: An antigen is a portion of the bacteria which can be

Page 298

[1] detected immunologically.
[2] Q: And do you agree with this statement biologically
[3] being correct that I have just read in **this** paragraph?
[4] A: Yes. The quantity of organism does correlate with
[5] outcome. Not perfectly, but there is a correlation. .
[6] Q: And Doctor, the next sentence, "Also delayed
[7] sterilization of cerebral spinal fluid after **24** hours"? You
[8] see that?
[9] A: Yes.
[10] Q: What would one be able to do with that fluid? What
[11] would **you** do that you can get the response in **24** hours?
[12] A: I'm sorry, I don't understand the question.
[13] Q: What does this refer to that has been done? Did
[14] they take a **spinal** tap after **24** hours to see whether or not the
[15] medication caused a sterilization?
[16] A: That's correct.
[17] Q: You **see** in the next sentence it says, "Cerebral
[18] spinal fluid that remains culture-positive at **24** hours"?
[19] A: I see the sentence, **yes**.
[20] Q: Dropping down to the last four, five sentences of
[21] this same column and paragraph - or let me go back to the last
[22] **full** paragraph on this column, page 695. You see it reads,
[23] "The pathophysiology of meningitis underscores the central
[24] role of inflammation in the production of clinical illness and
[25] in the vessel damage that precedes ischemic brain necrosis."

Page 299

[1] Do you agree with that statement?
[2] A: Yes.
[3] Q: **Is** that in a biologic sense, Doctor, that you made
[4] that statement?
[5] A: No. There are human data which support that.
[6] Q: What is that which supports **that**, Doctor?
[7] A: The greater the inflammation and the earlier the
[8] inflammation takes place in the **clinical** illness, the worse the
[9] outcome, and that is known from human experiments - or from
[10] human experience, excuse me.
[11] Q: The greater the **inflammation** and the **what**, Doctor?
[12] A: And the earlier that the inflammation proceeds in
[13] the clinical illness, the worse the outcome.
[14] Q: What causes the inflammation in that instance.
[15] Doctor?
[16] A: The inflammation is caused by the body's response to
[17] the presence of the bacteria.
[18] Q: And is it on a one-to-one basis?
[19] A: No. It is dependent on **two** factors. One is the
[20] number of bacteria, but more importantly, it **is** the unique
[21] speed and vigor of the inflammatory response that a given
[22] individual has.
[23] Q: What I'm asking is **this**, Doctor. And maybe you do
[24] not know this. But does the body's defense mechanisms cause
[25] white cells to come to the area of where the recognized

Page 300

[1] pathogen is on a one-to-one basis, or does the body just call
[2] the army **to** come, regardless of the number of bacteria that are
[3] recognized as foreign?
[4] A: It's mainly the latter, that there is a general
[5] response, but the greater amount of organism, the more intense
[6] the response. Within the speed of response it is unique to the
[7] individual. So that every individual seems to have - and **this**
[8] is true in animals - seems to have a speed of response and a
[9] vigor of response. Given that unique characteristic of the
[10] individual working within that, it **is** accelerated even more by
[11] the presence of large amounts of bacteria.
[12] Q: Would it then be true and is that what **this** is
[13] saying, that it is better and we know that if you can get it
[14] before it has more numbers of organisms, you have a better
[15] chance of having less inflammation?
[16] A: Well, if you'll read the next paragraph, that exact
[17] issue is addressed. That is the final link in the chain of
[18] logic that is not proven, unfortunately.
[19] Q: Does this article in **this** paragraph say it would
[20] make biologic sense, then, that initially a larger number of
[21] organisms would result in more inflammation and that the
[22] greater the inflammation, the worse the vascular damage and
[23] ultimate outcome?
[24] A: It says that, but the only **two** articles that exist
[25] that look at that issue actually disagree with that biological

Page 301

[1] sense. **So** here's an instance in which biological sense is
[2] probably not true.
[3] Q: Based on two articles.
[4] A: Well, that's all one has to go on.
[5] Q: So your basis for saying biological sense is correct
[6] are the two articles that are, I **think** the only articles -
[7] are the - the **two** articles I don't have, that author Kallio?
[8] **Is** that what you're talking about?
[9] A: No, it's the article by - one article **is** by Wilson
[10] and the other article is by Feldman. Also the **studies** in the
[11] rhesus monkey did not demonstrate that.
[12] Q: Which article is the one by Wilson? Where is that?
[13] In the material you have given me?
[14] A: No, it is reference **36** in my article.
[15] Q: And which one is Feldman?
[16] A: Reference **39**. And the rhesus monkey study was
[17] reference **40**.
[18] Q: Doctor, would you turn to the summary?
[19] A: Sure.
[20] Q: In the summary, Doctor, you wrote, **and it** was
[21] published, "The prompt diagnosis and therapy of bacterial
[22] meningitis **remain** enduring clinical challenges, for no
[23] physician would normally delay appropriate therapy." **Is** that
[24] correct?
[25] A: Yes.

Page 302

[1] Q: You also on the next page, 697, have written, "For
[2] severe" - and I have a punch here, Doctor. What's the word
[3] after "severe"?
[4] A: "Infections."
[5] Q: "For severe infections, the inexorable damage of
[6] untreated disease is presumed and antimicrobials properly are
[7] given without hesitation"; is that correct?
[8] A: That's what I wrote.
[9] Q: And dropping down in that paragraph, you then write
[10] in that last sentence, "Although legal and medical implications
[11] may be contained in such an analysis, its relevance to any
[12] particular clinical case is only retrospective"; that's
[13] correct?
[14] A: That's correct.
[15] Q: What's that mean?
[16] A: It means that despite the truth of what's written in
[17] this article and subsequently confirmed, it does not alter the
[18] obligation that a physician has to treat promptly bacterial
[19] meningitis.
[20] Q: And that is your opinion as to the obligation the
[21] physician has; right?
[22] A: Yes, it is.
[23] Q: And that was true in 1970, as well?
[24] A: Yes, it was.
[25] Q: 1971?

Page 304

[1] paper, and the other two articles that you cited me to by Dr.
[2] Kallio and the other one, that I have given the number 17, have
[3] made such an analysis using all of the available data?
[4] A: Well, references 36, 39, and 40 were articles which
[5] did not investigate this area.
[6] Q: They did not?
[7] A: No.
[8] Q: Is that correct?
[9] A: That's correct. The two articles you referred to.
[10] the one by Kallio and the one by Kilpi, generated specific
[11] study data which confirms what I wrote in this article, and
[12] those were, I think, the best possible study that is allowable,
[13] given the fact that you're dealing with human beings with
[14] severe infections.
[15] Q: That being the case - and I understand what you
[16] said - going back to this instance, "To judge responsibly the
[17] strength of a causative link, all available scientific evidence
[18] must be analyzed by established criteria." Have you or these
[19] other articles used established criteria to establish a link?
[20] A: I certainly used established criteria in mine, and
[21] the analysis of the data presented in the other two articles,
[22] Kilpi and Kallio, also used established techniques.
[23] Q: Did they use the established criteria?
[24] A: Yes, they used established criteria.
[25] Q: What is the established criteria you opine in your

[2]

[11] correct?
[12] A: It's actually based only on a biological inference
[13] for which there is absolutely no clinical proof.
[14] Q: The best you have; right?
[15] A: It's all I have is the biological argument. I have
[16] nothing yet to gain say it.
[17] Q: And Doctor, on that same page, going to the
[18] paragraph that I was reading from, the top part, you see
[19] there's a sentence that says, "To judge responsibly the
[20] strength of a causative link, all available scientific evidence
[21] must be analyzed by established criteria." Do you see that?

[11] does it say in writing the conclusion you have given in this
[12] deposition?
[13] A: I'm confused in the question, sir.
[14] Q: Where does this article say in writing that in your
[15] opinion with a patient who falls in category 1, there is no
[16] effect as to when the antibiotics would be given that you're
[17] hypothesizing in this case regarding Mark Turner? Where does
[18] it say that it wouldn't have any outcome or -
[19] A: What I said in my article is that the timing of the
[20] dosing of antibiotics does not correlate with outcome when the
[21] syndrome is one of less than three to five days of general and

Page 306

[1] The timing of the dosing does not correlate with outcome. Does
[2] that mean - in any way are you suggesting that in some
[3] patients the giving of the antibiotic may not, nevertheless,
[4] prevent or reduce severe or permanent central nervous system
[5] damage?

[6] A: Well, that's why I divided it up into three
[7] different groups, sir, because my reading of the biological
[8] argument for which there is no countervailing experience is
[9] that if there's an inappropriate delay in clinically overt
[10] meningitis, then that delay would influence outcome. That's
[11] why I divided up the syndromes into different types.

[12] Q: But I want to stay with the question, Doctor, that
[13] I'm positing. Are you suggesting by the statement in category
[14] 1 that the timing of the dosing does not correlate with
[15] outcome, i.e., there being permanent central nervous system
[16] damage, that that means that in all patients that you in fact
[17] give the medication, it will not be again beneficial in any way
[18] to prevent that harm?

[19] A: No, that's not what I'm saying.

[20] Q: That isn't what you mean, is it?

[21] A: No, that's not what I'm saying.

[22] Q: And as a matter of fact, in patients in category 1,
[23] you cannot prove as to whether and when the timing will or will
[24] not help that patient, but there are clearly certain patients
[25] in whom, in that category, they may be benefited by the giving

Page 307

[1] of the medication; correct?

[2] A: No. That's not what I'm saying.

[3] Q: You're not saying that? Are you saying that in
[4] category 1, Doctor, that in all of those patients, it doesn't
[5] make a difference in any of those patients' outcomes whether or
[6] when the medication is given with any of them?

[7] A: What I'm saying is that when the medication is given
[8] and it's being given for a purpose, the timing of that giving
[9] of medication in the context of the illness does not correlate
[10] with outcome. That's what I'm saying.

[11] Q: "Correlate" in that context means what?

[12] A: It means influences the outcome, making the outcome
[13] worse or better. It does not correlate.

[14] Q: By "correlate," you mean what? Statistically you
[15] can't correlate it, that you can't come to a statistical
[16] association? Is that what you mean, Doctor?

[17] A: No. What I mean is, it does not influence the
[18] outcome.

[19] Q: What does not influence the outcome? The giving of
[20] the medication, no matter when it's given?

[21] A: The timing of the antibiotics in the context of that
[22] illness does not influence the outcome.

[23] Q: It will not make any difference on the patient
[24] themselves in their outcome?

[25] A: The timing does not make a difference in the outcome

Page 308

[1] of the patient.

[2] Q: Does the giving of the antibiotic make a difference?

[3] A: Yes. Without giving the antibiotics, the disease is
[4] 96 percent fatal.

[5] Q: So are there patients, then, that the timing that
[6] you give it may, in fact, make a difference, and in some
[7] patients when you give it, it won't make a difference?

[8] A: I didn't understand the question.

[9] Q: Well, Doctor, you're saying that the timing does not
[10] make a difference, but the giving of the medication does;
[11] correct?

[12] A: You asked me about does giving antibiotics at all
[13] make a difference, and I said yes, because without antibiotics,
[14] the disease was 96 percent fatal.

[15] Q: So what I'm asking you, Doctor, is this. If the
[16] giving of the antibiotics is and does make a difference, and if
[17] you are of the opinion that in clinically overt meningitis,
[18] inappropriate delays in commencing therapy incrementally
[19] increases the risk of permanent injury, isn't it also true that
[20] inappropriate delay in commencing therapy in the patients in
[21] category 1 would also, as to some of those patients,

[22] incrementally increase the risk of permanent injury?

[23] A: Well, that's a tautology, because you used the words
[24] "inappropriate delay." In other words, in your question is
[25] already included the bias that the antibiotic should have been

Page 309

[1] given at an earlier point and they were inappropriately
[2] withheld, and therefore, it would influence outcome. I would
[3] agree that if in the context of an illness antibiotics are
[4] inappropriately withheld in someone who has a clinical reason
[5] to get them because the child has clinically overt meningitis,
[6] it does influence the outcome. I would agree with that.

[7] Q: Okay. So what I think I understand you to say in
[8] the context of the patients in category 1 is that it is your
[9] presumption in this statement itself that patients in category
[10] 1 who have general and nonspecific signs and symptoms for less
[11] than three to five days - that in and of itself is not a
[12] reason to give the antibiotic; correct?

[13] A: One does not give antibiotics for no reason at all.

[14] Q: But are you saying that in that specific category -
[15] are you presuming that for those patients there is no finding
[16] that has been established or demonstrated which would warrant
[17] the giving of an antibiotic, merely on the basis of general or
[18] nonspecific symptoms? Is that a premise that you're presuming?

[19] A: I'm honestly not presuming anything. The whole
[20] thrust of the article is that someone eventually has a
[21] diagnosis of meningitis made at a point in time, and
[22] antibiotics are given.

[23] Q: In category 1, Doctor, did those patients at some
[24] point in time have a diagnosis made of meningitis?

[25] A: Yes.

Page 310

Page 312

[1] Q: Was it after it became clinically overt?
[2] A: Yes.
[3] Q: So isn't it true, by the categorization you have
[4] used, that all patients in category 1 eventually went on to
[5] become into category 3 with clinically overt signs, by your
[6] definition?
[7] A: Yes.
[8] Q: And you can't tell me when and if, in any of those
[9] patients in category 1, before there were clinically overt
[10] signs - you can't disprove that they may have, in fact, and in
[11] truth had biologic meningitis?
[12] A: No one can know when biological meningitis is
[13] present, other than by clinical **findings** or spinal tap.
[14] Q: Right. So that these patients in category 1 may
[15] have biologic meningitis, but no one would know it without that
[16] being done: right?
[17] A: That's correct.
[18] Q: Is that a fair statement?
[19] A: Yes.
[20] Q: Okay. Let's go on to something else. Doctor. With
[21] regard to the - I'm just getting my notes. Give me a moment.
[22] Give her fingers a rest.
[23] (A recess was taken.)
[24] Q: With reference to what we were just talking about in
[25] the context of correlation, does correlation mean that it would

[1] three to **six** months, there may be subtle signs in these
[2] children regarding meningitis, and only subtle signs may be
[3] present?
[4] A: Maybe you need to let me know what those subtle
[5] signs are.
[6] Q: Well, the phrase you're not familiar with; correct?
[7] A: I do not think that children with meningitis have
[8] **anything** subtle about them at all. They may have general and
[9] nonspecific symptoms in an illness in which meningitis is
[10] eventually diagnosed, and in retrospect, people may be calling
[11] these general and nonspecific symptoms subtle. But in
[12] actuality, general and nonspecific symptoms are exactly that,
[13] general **and** nonspecific for illness, and they do not imply
[14] meningitis.
[15] Q: Doctor, is it your opinion that in clinically overt
[16] meningitis - strike that. I'll restate it.
[17] When, in your opinion, does a patient have
[18] clinically overt meningeal **signs**, by your definition? When
[19] they, in fact, present, or when the observer sees them?
[20] A: Well, I mean, here's the tree-falling-in-the-forest
[21] business again.
[22] Q: Well, but I'm not doing it to be cute with you. I'm
[23] serious. You don't know for how long a period of time - for
[24] example, using the bulging fontanelle in the record regarding
[25] Mark Turner, you don't know for how long that was present, do

Page 311

Page 313

[1] affect all the people within the category 1 the same way or not
[2] the same way? Or putting it differently, what do you mean by
[3] "correlate"?
[4] A: I think I have already tried to explain what I mean
[5] by "correlation."
[6] Q: Does it mean that it will affect all of them the
[7] same way or not affect all of them the same way?
[8] A: It means that it will affect everyone the same way
[9] unless there is evidence against that.
[10] Q: And how does one assess whether there's evidence
[11] against it?
[12] A: Well, there may be some specific information
[13] regarding the individual patient. There may be - I mean, that
[14] would be it, some specific clinical or medical or
[15] microbiological information regarding a specific patient which
[16] would take that patient out of the general group to which that
[17] general conclusion applies.
[18] Q: Okay. Now, Doctor, in the context of considering
[19] altered level of mental status, would it be appropriate to
[20] determine and assess if a child was playful and later become
[21] not playful? Is that part of the picture?
[22] A: Well, assessment of the level of activity and
[23] socialization in a child **is** one of the ways of getting at the
[24] level of consciousness, so those are important issues, yes.
[25] Q: Doctor, would you agree that in children of the age

[1] you?
[2] A: No.
[3] Q: And let's just hypothetically - and **this** is a
[4] hypothetical. Are you with me, Doctor?
[5] A: Sure.
[6] Q: Let's assume it had been present eight hours before
[7] it was charted and observed by an observer. All right?
[8] A: Okay.
[9] **MS. McDONALD:** The tender fontanelles or the
[10] bulging ones noted **later**?
[11] Q: Whenever it would have occurred, that would be
[12] clinically overt, would it not?
[13] A: The fontanelle findings which led to the spinal tap
[14] would lead to a spinal **tap** whenever they would be observed,
[15] yes.
[16] Q: That's not my question, though, Doctor.
[17] A: I thought that was your question.
[18] Q: My question is **this**. I gave you a hypothetical, and
[19] I'm using just an example of bulging fontanelles. If it would
[20] have been present eight hours before someone finally came to
[21] see the patient, it **still** would have been present for eight
[22] hours, would it not?
[23] A: Yes.
[24] Q: And it still would be - if someone had looked at
[25] it, it would have been correct to call it clinically overt

Page 314

[1] eight hours sooner; correct?
[2] A: Yes.
[3] Q: What makes it clinically overt - the word "overt"
[4] means that some health care provider is seeing it; correct?
[5] A: Correct.
[6] Q: That's part of the definition of clinically overt.
[7] isn't it?
[8] A: That's correct.
[9] Q: So that if we take out the word "overt" and just
[10] talk about clinically - clinical signs, a sign may be in truth
[11] present before it becomes overt by that definition, being a
[12] medical health care provider seeing it; correct?
[13] A: Correct.
[14] Q: And in that context, Doctor, you would agree that
[15] the timetable when something is - a finding is present may
[16] have some bearing upon the giving of the antibiotic; correct?
[17] A: Antibiotics are not given unless something is
[18] clinically overt in the way that we've talked about it.
[19] Q: I understand, Doctor, but I think you'll be able to
[20] follow what I'm saying here, so let's do it this way. I want
[21] you to assume two patients. At 8:00 in the morning in one
[22] patient, there is a bulging fontanelle that is seen for the
[23] first time in a six-month-old child with all the signs and
[24] symptoms and history of Mark Turner; all right? But at 8:00
[25] a.m., a doctor for the first time comes and sees the bulging

Page 315

[1] fontanelle. okay?
[2] A: Okay.
[3] Q: And then in hypothetical patient number 2,
[4] everything is the same I have described, except that at
[5] midnight there's a bulging fontanelle. Are you with me?
[6] A: Sure.
[7] Q: Okay. Now, here's what I'm asking you. In a
[8] patient - in both patients there is Hemophilus influenzae type
[9] B in a biological sense. Are you with me?
[10] A: Sure.
[11] Q: If you gave the antibiotic, the appropriate
[12] antibiotic, at 12:15 in the morning to the one, and you didn't
[13] give it until 8:15 in the second patient, all things else being
[14] equal, in a biologic sense, wouldn't you expect that the
[15] earlier the antibiotic would be given to a patient that had
[16] clinical signs of meningitis, the more likely that there would
[17] be a positive effect in reducing harm or injury, all things
[18] else being considered equal?
[19] A: Just for clarification, in your hypothetical are you
[20] telling me that the child in whom the examination took place at
[21] 8:00 in the morning would have had the bulging fontanelle, had
[22] an examination taken place at midnight?
[23] Q: Yes.
[24] A: Then the answer is to the best that is known, maybe
[25] right, maybe wrong, the best that is known. that interval of

Page 316

[1] eight hours incrementally would worsen the outcome, but the
[2] degree of increment is not known
[3] Q: Thank you
[4] A: But of course, in the second case, there's no
[5] appropriate delay. That's obvious in your hypothetical.
[6] Q: I understand. I understand what you're saying. So
[7] the difference of when it is appropriate or inappropriate as to
[8] a delay would depend upon other circumstances and facts,
[9] correct?
[10] A: Yes.
[11] Q: Do you know, Doctor, what the staffing was at this
[12] hospital at the time in question?
[13] A: No.
[14] Q: Do you know on any given shift how many physicians
[15] there were at the unit this patient was on the 2nd, 3rd, 4th,
[16] 5th, 6th, 7th or 8th?
[17] A: No.
[18] Q: What unit was this patient on on the 7th, doctor?
[19] A: What specific unit in the hospital?
[20] Q: Yes, sir.
[21] A: I don't know.
[22] Q: What unit was he on when he first came into the
[23] hospital?
[24] A: I don't know.
[25] Q: Did he remain in the same unit throughout?

Page 317

[1] A: I don't know for a fact. I assume so.
[2] Q: With regard, Doctor, to the nurse/patient ratio, did
[3] you assume that it was the same at each day of the - at each
[4] shift of the day?
[5] A: I don't know one way or the other, sir.
[6] Q: Do you know how frequently, at a minimum, nurses
[7] made rounds?
[8] A: No, I don't.
[9] Q: Do you know at a minimum how frequently nurses made
[10] notes?
[11] A: No, I don't.
[12] Q: Do you know whether the ratio of nurses was the same
[13] or different on evenings as compared to days?
[14] A: I don't know that.
[15] Q: On weekends compared to weekdays?
[16] A: I don't know that.
[17] Q: Do you know, Doctor, with regard to the residents,
[18] how frequently, if at all, the residents would make rounds?
[19] A: I don't know for an absolute fact, no.
[20] Q: Did you assume or make any assumptions how
[21] frequently residents would be there?
[22] A: I assumed at minimum there would be daily rounds.
[23] Q: Once a day, minimally?
[24] A: I said at minimum there are daily rounds, but I
[25] don't know how often the residents actually saw the patients.

Page 318

Page 320

[1] Q: I appreciate, Doctor. What I'm trying to do - and
[2] I'm not trying to argue with you. When you say "minimally,"
[3] are you talking about at least once a day, once a shift, what?
[4] A: Once a day.

[5] Q: Once every 24 hours?
[6] A: That's correct. I said minimally, but I don't know
[7] what the truth is.

[8] Q: So you don't know, in **this** case, when or how
[9] frequently, if at **all**, the residents made rounds to **this**
[10] patient: right?

[11] A: That's correct.

[12] Q: Or were expected to?

[13] A: That's correct.

[14] Q: Do you know minimally how frequently the residents
[15] were supposed to make progress notes?

[16] A: No. I don't know.

[17] Q: Do you know, Doctor, whether the residents were
[18] there weekends any differently than weekdays?

[19] A: I don't know the staffing patterns, no.

[20] Q: Weekdays versus weeknights?

[21] A: **Again**, I don't know the staffing patterns.

[22] Q: Do you know **minimally** how often an attending was
[23] expected to be there?

[24] A: I think Dr. Gotoff suggested that an attending was
[25] in the hospital each day and available at any time, but they

[1] Q: Did you assume that the patient received antibiotics
[2] for the entire two weeks?

[3] A: I don't think he had a **full** two-week course, no. I
[4] don't believe he was taking antibiotics at the time of
[5] admission, so the answer is no.

[6] Q: What was the amount of antibiotics, then, in that
[7] history that you assumed he was given? How and how long did he
[8] take it? Was it oral, was it parenteral, was it -

[9] A: It was an oral antibiotic. It was begun *two* weeks
[10] prior to admission, and I don't know the duration of time that
[11] he took it.

[12] Q: What was the dose?

[13] A: I don't know.

[14] Q: Did he take any of them?

[15] A: I assume so, but I wasn't there watching him.

[16] Q: Have you ever heard of partially treated meningitis?

[17] A: Yes, I have heard of that.

[18] Q: What is it?

[19] A: It's a situation in which a child or a person, not
[20] just a child, has meningitis diagnosed who is already on an
[21] antibiotic.

[22] Q: And what effect, if any, may that have insofar as
[23] signs and/or symptoms?

[24] A: Well, no one knows for an absolute fact what effect
[25] it has, because no one knows whether the individual did or did

Page 319

Page 321

[1] did not round on each and every patient.

[2] Q: Mark is not deaf, is he, doctor?

[3] A: I do not think there's any evidence that he's deaf,
[4] no.

[5] Q: Doctor, in the reference, in the context of
[6] histories, you read the history at Cook County, Municipal
[7] Contagious Disease and Rush Pres St. Luke; is that correct?

[8] A: That's correct.

[9] Q: And you did note differences in those; correct?

[10] A: Perhaps you can point out to me what you meant by
[11] that.

[12] Q: Well, Doctor, I'll say it different. Was the
[13] history concerning whether **this** child received antibiotics
[14] before admission to Municipal Contagious Disease Hospital the
[15] same in all three hospitals?

[16] A: I'm sorry, which were the three hospitals?

[17] Q: Cook County, Municipal Contagious Disease, and Rush
[18] Pres St. Luke.

[19] A: Well, my understanding was the child received
[20] Polycillin at Cook County Hospital two weeks prior to the
[21] admission to the Municipal Disease.

[22] Q: Is that the assumption you made?

[23] A: That was contained in the notes of the Municipal
[24] Contagious Disease Hospital. I didn't see that gainsaid on
[25] other notes, no.

[1] not have meningitis at the time the oral antibiotic was begun.
[2] The best that is known about that is that if you look at
[3] children who have been on an antibiotic prior to the diagnosis
[4] of meningitis being made, and compare them to children who have
[5] not been on an antibiotic prior to the diagnosis of meningitis
[6] being made, the duration of illness is longer in the children
[7] on the antibiotic prior to the diagnosis than it is in the
[8] children not on the antibiotic.

[9] Q: Does it have anything to do with changing or
[10] altering the otherwise clinical signs and symptoms that would
[11] be present, repressing them in any way?

[12] A: Not meaningfully, no.

[13] Q: Have you ever read that it does?

[14] A: I just gave you my answer.

[15] Q: I know, Doctor, I'm not unmindful of your opinions
[16] being your opinions. I'm asking, have you ever read, to the
[17] contrary of your opinions, that it does have an effect in the
[18] partially treating of meningitis to give oral - or parenteral
[19] antibiotics?

[20] A: I don't have **an** exact memory of reading that
[21] statement. I can imagine that statement having been made, but
[22] it's **just** not true.

[23] Q: Not true. Doctor, did **this** child, in your medical
[24] opinion, have pertussis?

[25] A: **This** child had pertussis syndrome.

Page 322

[1] Q: Doctor. I appreciate that. So what I'm asking is
[2] did he have pertussis or pertussis syndrome? Which?
[3] A: The most one can say is that he had pertussis
[4] syndrome because there is no bacteriologic confirmation of
[5] pertussis. If one looks statistically at pertussis syndrome,
[6] more likely than not it is due to pertussis. But it was not
[7] confirmed in this patient, which does not mean it wasn't
[8] there. It just wasn't confirmed.
[9] Q: Can you say with a reasonable degree of certainty
[10] more likely than not that he did have the pertussis or merely
[11] had pertussis syndrome? Which?
[12] A: I can say with absolute certainty that he had
[13] pertussis syndrome. It is my opinion he had true pertussis,
[14] not based on confirmatory studies in this patient, but what is
[15] known about patients who have pertussis syndrome.
[16] Q: Do you have an opinion whether he had otitis media?
[17] A: I have an opinion.
[18] Q: What is your opinion?
[19] A: My opinion is, in retrospect, he did not have otitis
[20] media.
[21] Q: Did any of the physicians caring for him indicate in
[22] their opinions in writing that they thought he had otitis
[23] media?
[24] A: Yes.
[25] Q: How many and who?

Page 323

[1] A: Well, Dr. Dellatorre did on the admission, and I
[2] believe that most of the subsequent physicians who cared for
[3] the patient assumed that he had had an otitis media.
[4] Q: Did Dr. Gotoff diagnose that he had otitis media?
[5] A: To my knowledge, Dr. Gotoff did not make a diagnosis
[6] one way or the other.
[7] Q: Did you read his deposition?
[8] A: I read his deposition.
[9] Q: And you're of the opinion that Dr. Gotoff did not
[10] opine that this patient had otitis media?
[11] A: No. What you asked me was, did Dr. Gotoff make the
[12] diagnosis of otitis media, and I said no.
[13] Q: Okay. You read his deposition; right?
[14] A: Yes.
[15] Q: Did Dr. Gotoff opine in his deposition whether he
[16] thought this child at any time had otitis media?
[17] A: I don't remember.
[18] Q: You don't remember? If Dr. Gotoff said it was his
[19] opinion that the child did have otitis media, you disagree?
[20] A: I have a different opinion.
[21] Q: Did any of the doctors at Rush Pres St. Luke
[22] diagnose otitis media?
[23] A: I don't see evidence that they made a positive
[24] diagnosis of otitis media when the child was at Presbyterian
[25] St. Luke. They did note that the tympanic membranes were not

Page 324

[1] normal, but at least in my quick review of my notes, I did not
[2] see that diagnosis being made. I'd be happy to look at the
[3] original records, however.
[4] Q: And Doctor, if the doctors at Rush Presbyterian St.
[5] Luke were to be of the opinion that the child at the time they
[6] saw him had otitis media, you would also disagree with them?
[7] A: No. I would have to look at the physical findings
[8] that led them to that belief. It wasn't an issue that I
[9] addressed directly, because I did not think it was germane to
[10] my analysis. If you'd like me to address it, I'd be happy to.
[11] Q: All right. What I'm just saying - so from your
[12] viewpoint it was not something you looked at in this case for
[13] purposes of deciding one way or the other; correct?
[14] A: That's correct.
[15] Q: And it's not an opinion that you think has any
[16] bearing, one way or the other, in this case? That he had
[17] otitis media or not. I'm saying.
[18] A: No, whether he had otitis media or not at
[19] Presbyterian St. Luke is not an issue which I feel bears on the
[20] matters that concern this case.
[21] Q: Well, is it of issue or concern whether he had
[22] otitis media at Municipal Contagious Disease Hospital?
[23] A: No. I don't think it's an issue one way or the
[24] other.
[25] Q: So is it correct you didn't look at this case with

Page 325

[1] that in mind and you have no opinions one way or the other that
[2] you expect to give at the trial?
[3] A: No. I have an opinion, but I just don't think that
[4] the issue is germane to the child's other clinical course. I
[5] have an opinion.
[6] Q: Doctor, you said at Rush Pres St. Luke you would
[7] like to look through the physical findings that led them to
[8] believe that; right?
[9] A: No, I think you asked me, sir, did anyone at
[10] Presbyterian St. Luke make the diagnosis of otitis media.
[11] Q: That's correct. And you said you would need to look
[12] at the physical findings which led them to believe that?
[13] A: Can I finish my sentence, sir?
[14] Q: Yes.
[15] A: What I said was, I do not believe that they
[16] themselves made a positive diagnosis of otitis media in their
[17] hospital. Now, I did not include that diagnosis having been
[18] made in the notes that I have off of the original records. If
[19] that is an issue that you would like me to review, I can look
[20] at the original records.
[21] Q: What I'm trying to find out is - and I'll ask you
[22] to do that in a moment, Doctor - are you saying that to
[23] determine whether a child in this case had otitis media, you
[24] have to look at the data that's in the record?
[25] A: Yes, of course.

Page 326

Page 328

[1] Q: What are the physical findings in the record that
[2] you'd be looking for to prove that otitis media was or wasn't
[3] present?
[4] A: I think that the data that I would look at would be
[5] two. One would be a description of the tympanic membrane and
[6] then a description of the natural history of the child's course
[7] with respect to the possible presence of otitis media.
[8] Are you still there, sir?
[9] Q: Yes, sir.
[10] A: When you're quiet, I get nervous.
[11] Q: I'm sorry. That's what I did with you a moment
[12] ago. You said you were thinking. I'm writing.
[13] Doctor, would you tell me of a reason or reasons
[14] why - and what you base it upon - you do not believe this
[15] child at Municipal Contagious Disease Hospital did have otitis
[16] media?
[17] A: Yes. In the description of the ears contained in
[18] the admission history and physical examination by Dr.
[19] Dellatorre, the following was found. There was a white mucoid
[20] discharge, not foul, and the right tympanic membrane was
[21] injected, appearing red, and the left tympanic membrane was
[22] normal.
[23] Now, in general, merely a red ear does not predict
[24] the presence of bacteria behind the eardrum and would not
[25] qualify for the diagnosis of otitis media.

[13] therapy.

[1] Q: Doctor?
[2] A: Yes. That's what I'm saying. Yes, sir.
[3] Q: And is that because the doctor didn't write it?
[4] A: Well, no. He wrote about a mucoid discharge in the
[5] ear. But that mucoid discharge was cleared on its own after
[6] two days. and I'm not convinced that that was really pus in the
[7] ear. In the ear canal, excuse me.
[8] Q: You're not convinced, right?
[9] A: No, I'm not convinced.
[10] Q: Did they do anything to rule it in or rule it out,
[11] Doctor?
[12] A: Well, they did culture the ear discharge.
[13] Q: And?
[14] A: But I could never find the result of the culture.
[15] Q: You couldn't?
[16] A: No. I couldn't.
[17] Q: So let me see if I understand you correctly. Is
[18] there an order for a culture to be taken of the ear discharge?
[19] A: Yes.
[20] Q: What is the date of the order?
[21] A: The 28th of December.
[22] Q: Read the order.
[23] A: It says, "Culture of ear discharge."
[24] Q: Is that what you consider to be an order which
[25] should be executed and filled?

[13] Q: Rescinded, yes.

Page 330

[1] routine. dit was done. it would become an official part of
[2] the chart, wouldn't it?
[3] A: Normally, yes.
[4] Q: Normally, yes. Correct?
[5] A: That's correct.
[6] .Q: And you don't have any reason to believe that if it
[7] was done. it wouldn't be reported. do you?
[8] A: I have no reason to believe that, sir.
[9] Q: And you don't have any reason to believe, if the
[10] doctor ordered it, it wouldn't be done, do you?
[11] A: I have no reason to believe that, sir.
[12] Q: And the order was given for a reason, wasn't it?
[13] A: Yes. it was.
[14] Q: What was the reason, as you opined, that the order
[15] to culture the discharge of the ear was given?
[16] A: Presumably, it was given for two reasons. One is to
[17] decide whether, in fact, that discharge had originated from the
[18] middle ear and was part of a ruptured eardrum; and the second
[19] was to find out whether there was a causative agent that would
[20] have defined the best choice of antibiotics.
[21] Q: So that, Doctor, it is true that one of the **things**
[22] that such a culture might indicate is that it might turn out to
[23] be Hemophilus flu type B; correct? That's a possibility?
[24] A: Anything is a possibility, it could have grown
[25] anything. But you know, statistically, we know that Hemophilus

Page 331

[1] influenzae type B is not a common cause of ear infections, **so**
[2] that would be unlikely.
[3] Q: Well, Doctor, I have the literature in front of me
[4] and I'm going to get to that. So you tell me how uncommon you
[5] **think** it is for HIB to be part of an ear infection associated
[6] with otitis media.
[7] A: Less than 5 percent.
[8] Q: Isn't it correct, Doctor, it's 5 to 15 percent?
[9] A: No. That's Hemophilus mfluenzae. But it's not
[10] type B.
[11] Q: All right. So Hemophilus influenzae is 15 percent,
[12] type B is 5 percent; right?
[13] A: No. I said less than 5 percent.
[14] Q: How much was it, Doctor?
[15] A: I'm guessmg, sir. You know, you have the advantage
[16] of being on the telephone, lots of learned books all around
[17] you. and **so** on and **so** forth. My recollection is -
[18] Q: I need some advantage in life.
[19] A: My recollection is that it's rare, **so** less than 1
[20] percent, 1 percent, 2 percent. Very rare.
[21] Q: Okay. Now, nevertheless, in the 1 to 2 percent,
[22] would that be the case that it is in those patients that when
[23] it occurs **it's** 100 percent for them, right?
[24] A: As you say, sir.
[25] Q: Right?

Page 332

[1] A: As you say.
[2] Q: Okay. Now, what is there, Doctor, that would be
[3] important to know if the discharge is from the middle ear? Why
[4] is that important?
[5] A: Well, presumably, it would have helped them make the
[6] diagnosis of **otitis** media.
[7] Q: And hypothetically in a patient in that time frame
[8] reference that **otitis** media was diagnosed, what was the
[9] standard treatment for that?
[10] A: I would imagine it would have been either
[11] Amoxicillin or penicillin and sulfa. Excuse me, ampicillin.
[12] because Amoxicillin was not available. Ampicillin orally, or
[13] penicillin plus sulfa.
[14] Q: Ampicillin for ten **days**; correct?
[15] A: Yes.
[16] Q: The reason I say ten days, I read your article. You
[17] wrote an article dealing with the issue of why and how it came
[18] to be that various **drugs** were given for the duration; right?
[19] A: **Only** with regard to bacterd meningitis.
[20] Q: Right. But I'm saying you wrote an article about
[21] something related to why and how long **drugs** are given; right?
[22] A: Yes.
[23] Q: Okay. I do read what I get, Doctor. Now, is it
[24] your opinion. Doctor, that a culture of the ear that would show
[25] the HIB organism present would then suggest the giving of -

, Page 333

[1] you change it to ampicillin for at least ten days; correct?
[2] A: No. As I said, I believe at that time ear
[3] infections were being treated with ampicillin or with
[4] penicillin plus sulfa.
[5] Q: **Plus** sulfa. How long would the ampicillin be
[6] given? Ten days? At what dosage? How many milligrams per
[7] **kilogram**?
[8] A: The usual recommendations ranged between 30 to 50
[9] **milligrams** per **kilogram**.
[10] Q: With reference, Doctor, to otitis media, can you
[11] rule out that it was not present?
[12] A: No, I'm just **trying** to express an opinion based on
[13] probability.
[14] Q: Okay. And with regard, Doctor - do you know
[15] whether, in the discharge diagnosis, otitis media, **at**
[16] Contagious Disease Hospital was diagnosed?
[17] A: I believe **it** was included in the discharge
[18] diagnosis.
[19] Q: **May** I ask you, Doctor, respectfully, what then you
[20] feel you can do and why you feel you're correct and they're
[21] wrong?
[22] A: Well, you know, they were of **two** minds, evidently,
[23] because although they made the diagnosis on the one hand, they
[24] didn't treat it, on the other hand. **So** they may not have been
[25] entirely convinced that their diagnosis was correct and wanted

Page 334

Page 336

[1] to have more corroborating information. As it turns out, the
[2] darned thing went way five or six days later anyway, which is
[3] all you can hope for even with antibiotics, and therefore, I
[4] think it's really a nonissue.

[5] But based on a retrospective review of the look of
[6] the eardrum, of the natural history, and the fact that they
[7] themselves chose not to treat it, my feeling is that more
[8] likely than not, it was not present.

[9] Q: Doctor, did you ever read and have you ever come to
[10] learn that otitis media may go from one site, one ear, to the
[11] other?

[12] A: Well, I don't think otitis media goes from anywhere
[13] to anywhere else. People can have unilateral or bilateral
[14] otitis media. That is true. But nothing sloshes from one
[15] place to another place.

[16] Q: I should have used the word "present." I'm sorry.
[17] Can it present in more than one ear?

[18] A: Yes.

[19] Q: Can it, Doctor, re-present, occur, with the same
[20] organism?

[21] A: Can you tell when it's re-presenting?

[22] Q: Within days after what appears to be the - in other
[23] words, Doctor, without examining the middle ear, can you -
[24] just because you don't find an injected ear or reddened ear,
[25] can you rule out the presence of otitis media being in

[1] Q: Is it reported in any of the literature in
[2] Pediatrics or infectious Disease or Pediatric Infectious
[3] Disease, by people that you think are respectable and of
[4] learning, quoted people, that it is something which there is a
[5] statistical association reported in the literature?

[6] A: I have never seen that proven, sir.

[7] Q: I didn't ask you if you saw it proven. Have you
[8] ever seen it published?

[9] A: I don't know.

[10] Q: You don't know? Is otitis media, Doctor, something
[11] which you think requires treatment?

[12] A: In general, otitis media is treated. Whether it
[13] requires treatment is another issue, and there's even a
[14] contemporary debate as to whether it requires treatment.

[15] Q: Why is it treated?

[16] A: Because the presumption is - and again, this is one
[17] of those clinical areas in which the clinical actions are based
[18] on sometimes an incomplete knowledge of a disease in human
[19] beings - the presumption is that in most cases, the treatment
[20] enhances the recovery and, therefore, avoids the loss of
[21] hearing in an ear that is filled with pus, and to some degree
[22] secondary infections in and around the ear.

[23] But between 60 and 80 percent of otitis media gets
[24] better without therapy, and there are even new recommendations
[25] now to alter the duration of therapy or whether otitis media

Page 335

Page 337

[1] existence?

[2] A: Yes.

[3] Q: You can?

[4] A: Yes. If someone has a normal tympanic membrane,
[5] they do not have otitis media.

[6] Q: And in a patient who has otitis media, Doctor, can
[7] it recur?

[8] A: Oh, yes.

[9] Q: Can it recur with the same organism?

[10] A: Sure.

[11] Q: Can it recur with a new or different organism?

[12] A: Sure.

[13] Q: Can it recur within a matter of days?

[14] A: Yes.

[15] Q: And how long have you known that?

[16] A: Forever.

[17] Q: What relationship is there reported in the
[18] literature, Doctor, between otitis media and Hib meningitis?

[19] A: None.

[20] Q: None? Does that mean you have made a search of the
[21] literature and you found that it's never been reported?

[22] A: No. You're asking me, as I sit here - you didn't
[23] ask me in the intervening time to make a search, sir. As you
[24] sit here, my opinion, based on the literature that I think is
[25] relevant, is there is no association.

[1] should even be treated.

[2] Q: That wasn't the case in 1970, was it, 1971?

[3] A: Again, then as now, most clinicians treated otitis
[4] media when they made the diagnosis.

[5] Q: Do you know whether these residents had a residents'
[6] manual, Doctor?

[7] A: I don't know what they had, sir.

[8] Q: I'm switching in a moment, Doctor. Just getting my
[9] notes together, so bear with me.

[10] A: Well, you don't mind if I go to the bathroom, do
[11] you?

[12] Q: No. Let me ask you. We have been rude. I
[13] apologize. What's your thoughts about lunch?

[14] A: My thoughts are that lunch is a good thing.

[15] MR. GOLDBERG: Well, Ms. Court Reporter, what
[16] about you?

[17] THE REPORTER: I love lunch.

[18] (A discussion was held off the record.)

[19] Q: Let's do this. Before you leave for lunch, would
[20] you just put in the record, ma'am - Doctor, would you, at
[21] least as to the articles that you didn't - and I'm not
[22] suggesting anything wrong, intentionally - that you didn't
[23] send to Ms. McDonald that you have in your office - would you
[24] make sure you get those to Ms. McDonald, and if you could do it
[25] today, I'd appreciate it, while the dep is on. But if not,

Page 338

[1] I'll just have to reserve my right. if there's nothing in there
[2] I think **is** mportant. I won't **resume** it. If there **is**, I'll act
[3] accordingly.
[4] With that in mind, it's now 2:00 here. Let's resume
[5] the deposition to give you adequate time and go to the
[6] washroom, at a quarter to 3:00 our time, quarter to 2:00 your
[7] time.
[8] (A discussion was held off the record.)
[9] (The deposition recessed at 1:00 p.m., and
[10] resumed at 2:00 p.m., as follows:)
[11] Q: Back on the record. Doctor, in your notes. Exhibit
[12] 4A, my copy of 4B, on page 13, would you turn to it for a
[13] moment, please?
[14] A: All right.
[15] Q: You have selected notes, date 1/15.
[16] A: That's correct.
[17] Q: In doing this, did you write down exactly what was
[18] in the Rush Pres chart or did you paraphrase?
[19] A: I tried to write down what was in the chart.
[20] although not necessarily using the abbreviations that were
[21] used. I usually try to write out the words, but I did not add
[22] anything of my own, to my knowledge.
[23] Q: ~~All~~ right. What I'm calling your attention to -
[24] you see it says "Summary of the positive findings." Positive
[25] findings?

Page 339

[1] A: Yes.
[2] Q: Do you see it says, "TMs, decreased light reflex"?
[3] A: **Yes**.
[4] Q: What did you assume that to mean?
[5] A: The light reflex **is** the quality of the reflection of
[6] the examining light off the tympanic membrane, and one of the
[7] soft and nondiagnostic findings **in** infected ears **is** an
[8] alteration in the light reflex.
[9] Q: Does that mean you considered that the person who
[10] wrote this was including within his differential ~~otitis~~ media
[11] or not?
[12] A: No, I only concluded that they're describing **what**
[13] they saw.
[14] Q: Would a doctor be reasonable in assuming that within
[15] the differential, by reading this, the differential diagnosis
[16] of otitis media?
[17] A: Not by decreased light reflex alone, no.
[18] Q: Plus the history.
[19] A: Again, not by decreased light reflex alone.
[20] Q: How about if you add to **it** the **history**?
[21] A: No.
[22] Q: No? Okay. **Next**, Doctor, do you have **an** opinion as
[23] to what **is** or was the cause or causes for the temperature rise
[24] between the morning of the second night until the third?
[25] A: My opinion **is** that the temperature rise was because

Page 340

[1] the child developed infectious gastroenteritis.
[2] Q: And what do you base that upon?
[3] A: I base that upon the fact that the child did have a
[4] temperature rise, one; did not have a physical finding which
[5] provided **an** alternative explanation, two; was not clinically
[6] significantly ill, three; and had diarrhea, four.
[7] Q: Has diarrhea been associated with otitis media?
[8] A: No, although children do develop diarrhea in the
[9] context of infections sometimes, but not clinically significant
[10] diarrhea.
[11] Q: Was this, in your opinion, clinically significant
[12] diarrhea?
[13] A: It was, because they thought so.
[14] Q: Well, tell me something, Doctor. How many bouts of
[15] diarrhea did she have?
[16] A: I don't know how many bouts of diarrhea she had in
[17] all. There's a progress note from the 4th that said three
[18] loose stools, and Dr. Gotoff's note says that the child had
[19] diarrhea for **two** days.
[20] Q: Do you *see* anything in the record to indicate in
[21] writing diarrhea for **two** days?
[22] A: Yes, Dr. Gotoff said that.
[23] Q: I say other than Dr. Gotoff, do you see anything to
[24] indicate that?
[25] A: Well, **let** me review the nursing notes to see if the

Page 341

[1] nurses have anything to say about it. The nursing note from
[2] the 3rd, 6:15 p.m., says "loose stools times four," and that's
[3] the only nursing note that I see that comments on the quality
[4] of the stool.
[5] Q: With regard, Doctor, to the 7th, do you find **an**
[6] order for a culture?
[7] A: I find an order for a culture on the **4th**. I find **an**
[8] order for a stool culture on the 7th.
[9] Q: A stool culture on the 7th; right?
[10] A: Yes, and also **an** order for a stool culture on the
[11] **4th**.
[12] Q: What other culture was done on the 4th, Doctor?
[13] A: A blood culture.
[14] Q: Now, do you find the specific order for the culture
[15] on the **4th**, the blood?
[16] A: I have the doctor's orders having a stool culture,
[17] blood culture, CBC in a.m., Pedialyte and no **milk**.
[18] Q: That's on the 4th?
[19] A: That's correct.
[20] Q: And that's on page **6** of your notes; right?
[21] A: Yes.
[22] Q: Would you tell me, Doctor, please, where the lab
[23] microbiology record **is** regarding that blood culture?
[24] A: I'm sorry, where it is physically in the records?
[25] Q: Turn to it.

Page 342

[1] A: In the records?
[2] Q: Yes, sir.
[3] A: Because I have it on my notes.
[4] Q: All right. Where is it on your notes?
[5] A: It's *two* entries below the doctor's order on the
[6] 4th.
[7] Q: Now, will you turn to the actual laboratory slip?
[8] A: This is going to take a moment.
[9] Q: Please. I can give you the page. It's **L42**.
[10] A: Yes, it's easy for you because you have it right in
[11] front of you.
[12] Q: I'm sorry.
[13] (A discussion was held off the record.)
[14] A: All right. I have it in front of me.
[15] Q: Okay. Now, Doctor, you see the staphylococcal
[16] present, **gram** negative?
[17] A: Yes, I see it.
[18] Q: It says H. influenzae type B present: correct?
[19] A: That's right.
[20] Q: What was the medium that was used for this culture,
[21] Doctor?
[22] A: Well, I don't know specifically, sir.
[23] Q: What mediums did you assume, or medium did you
[24] assume was used?
[25] A: Oh, there are a number of liquid media that were

Page 344

[1] A: That was the general procedure in those days. To my
[2] knowledge, no one was directly plating blood onto solid media.
[3] Q: What time was the specimen received?
[4] A: There's a stamp on the slip that says 7:45 a.m.,
[5] January 5.
[6] Q: 7 what?
[7] A: 7:45 a.m., January 5, and what is probably the time
[8] that the blood culture was logged in, but the blood culture
[9] itself originated from the 4th.
[10] Q: So **this** indicates to you it was logged in on the
[11] 5th; right?
[12] A: That's right.
[13] Q: At 7:45?
[14] A: That's correct.
[15] Q: What time was it drawn?
[16] A: Having a deposition with you is like a scavenger
[17] hunt, trying to find **things** that you want me to find.
[18] Q: Okay, Doc. I want to make sure you're going to be
[19] an A pupil.
[20] A: I don't know.
[21] Q: There's nothing in this record to indicate when the
[22] blood was drawn for the culture? Is that what you're saying?
[23] A: It was drawn on the 4th, but I don't know when it
[24] was drawn on the 4th.
[25] Q: And you have no idea when that would be, according

[12] Q: Have you ever used a chocolate media?
[13] A: Not for a primary blood culture, no.

[15] the 1970s, primarily?
[16] A: Well, I'm giving you the information as I remember
[17] it from those days. Solid media, of which chocolate agar is
[18] an example, was not used for a primary blood culture.
[19] Q: Was **this** a primary blood culture?
[20] A: Yes, it was.
[21] Q: How do you know that?
[22] A: Because it was taken from the patient and put into a
[23] bottle of blood culture media which is always liquid.
[24] Q: Where does it indicate to you that's what was done
[25] there?

[12] culture would be drawn, and the nursing staff or the physician
[13] **staff** or perhaps the one lone laboratory person would put the

[15] when the microbiology team arrived, they would log in the blood
[16] culture and begin the processing.
[17] Q: Now, would you describe for me that processing step
[18] by step?
[19] A: Well, I can only give you a general description,
[20] because I don't know the policies and procedures of the
[21] Municipal Contagious Disease Hospital. But **in** general, in
[22] those days, blood cultures were looked **at** twice a day,
[23] visually. The technician would look for any haziness or
[24] opacity of the fluid, any visible **growth** on the surface, or any
[25] lysis of the red blood cells. If any of those things were

Page 346

[1] present, ~~an~~ aliquot of the blood culture would be plated out on
[2] solid media and there would be a direct examination of the
[3] blood culture material by gram stain under the microscope.
[4] Following plating out on the solid media, an identification
[5] would take place. and susceptibility testing would be
[6] performed.

[7] Q: And that generally is how you understood it to be
[8] done routinely: correct?

[9] A: That's correct.

[10] Q: Now, why would it be looked - if ~~this~~ doctor
[11] received - we'll assume that you're reading 7:45 on the 5th.
[12] a.m., that we're talking about is correct. would it then be
[13] done and looked at twice during the next 24 hours?

[14] A: Yeah. I would assume it would have been looked at at
[15] the time of the log-m. and it would have been looked at some
[16] time that later afternoon or early evening.

[17] Q: Now, that's visually looked at; right?

[18] A: That's correct.

[19] Q: And what you're looking for is to see if there's any
[20] visible growth on the surface; right?

[21] A: You're looking for the three things that I
[22] described.

[23] Q: Haziness, opacity and visible growth on the surface
[24] or lysis?

[25] A: That's correct.

Page 347

[1] Q: What would one expect to see in the way - if there
[2] is visible growth on the surface or lysis, what do you actually
[3] see?

[4] A: Well, the lysis would be a change in the way that
[5] the culture bottle looked. Normally, in blood cultures, the
[6] blood cells will settle to the bottom of the blood culture.
[7] Above the blood cells will be a clear straw-colored fluid made
[8] up of the serum of the blood and the growth broth. In opacity,
[9] that clearness would be obscured, and you would no longer have
[10] clear fluid, but you'd have hazy fluid. Now, if there was
[11] visible growth, you would oftentimes see colored white or
[12] yellow material growing at the interface between the blood
[13] cells and the broth, or on the surface of the broth. And
[14] finally, if there was lysis of red cells, you would have a
[15] uniform reddish appearance to the entire blood culture bottle,
[16] rather than the blood/broth interface.

[17] Q: Now, what is the significance - let's take them one
[18] by one. Let's talk about - what's the significance within the
[19] first day and first 24 hours, if you see haziness? What's the
[20] significance?

[21] A: The haziness is a sign of presumptive growth of a
[22] bacteria in the blood culture bottle.

[23] Q: Have you finished?

[24] A: Yeah, I'm finished.

[25] Q: What's the significance if you see opacity?

Page 348

[1] A: Haziness and opacity are the same thing.

[2] Q: So you use them the same; is that correct?

[3] A: Yeah. I mean, haziness is light opacity. Opacity
[4] is heavy haziness.

[5] Q: What's the significance if you see visible growth on
[6] the surface?

[7] A: Same thing.

[8] Q: What's the significance if you see lysis?

[9] A: Same thing.

[10] Q: How frequently, Doctor, from your experience, is it
[11] that with a - knowing what the organism was, I mean knowing
[12] what the end result was that was reported, what's the
[13] likelihood of what you would have seen? What do you opine
[14] would have been seen?

[15] A: Haziness.

[16] Q: When?

[17] A: At some point on the 7th.

[18] Q: You would expect to see the haziness on the 7th?

[19] A: I believe that's when they first identified the
[20] positive blood culture.

[21] Q: Is it your opinion that what they identified, the
[22] blood culture, is the organism, or any of these signs being
[23] present?

[24] A: Normally they would have seen the haziness. They
[25] would have then taken an aliquot of the broth and examined it

Page 349

[15] A: It could be, depending on a number of factors.

[16] Q: What are those factors?

[17] A: The number of bacteria present in the inoculum, the
[18] presence or absence of antibiotics in the blood, the condition
[19] of the bacteria given host defenses. In other words, have they
[20] been so-called stunned, or are they rapidly growing bacteria?
[21] Those seem to be the factors involved.

[22] Oh, I'm sorry. The last factor is the size of the
[23] blood culture sample. In other words, the larger the sample,

Page 350

Page 352

[1] Q: What was the size of this sample?
[2] A: I don't know.
[3] Q: Are you able to form an opinion as to whether these
[4] organisms were stunned?
[5] A: I don't know.
[6] Q: Or the presence - there was no presence of
[7] antibiotic, was there?
[8] A: No.
[9] Q: So that wouldn't be a factor, right?
[10] A: Correct.
[11] Q: And what was the number of inoculum? Was there any
[12] way to tell?
[13] A: No.
[14] Q: With regard, Doctor, then - how is it, using those
[15] as criteria, that one looking at the petri dish or the specimen
[16] could see evidence of visible growth? Why or what takes
[17] place? What is that which actually occurs?
[18] A: The visible growth is an interference with the
[19] clarity of the media by bacteria which have grown in the blood
[20] culture bottle.
[21] Q: And when that does occur, describe for me visually
[22] what you would expect to see.
[23] A: You would hold the blood culture bottle up to a
[24] light. Actually, you hold it up to a dark surface with a light
[25] shining through the side of the bottle, and you ask the

[1] Q: And Doctor, with regard to this particular organism,
[2] HIB, was the rapidity or the speed with which it would grow
[3] sufficient to be seen visibly with the naked eye, depending
[4] upon each of those things you just described?
[5] A: That's correct.
[6] Q: And without knowing the answers to those things, you
[7] couldn't be more accurate, right?
[8] A: That's correct.
[9] Q: Doctor, have you, in fact, ever had a blood culture
[10] ordered where, within less than 24 hours, you visually saw
[11] evidence of growth on the specimen?
[12] A: Yes.
[13] Q: Have you ever had that occur with HIB?
[14] A: Yes.
[15] Q: What was your explanation for that?
[16] A: The explanation was that there were a large number
[17] of bacteria in the initial inoculum and, therefore, the
[18] interval between inoculum and visible growth was short.
[19] Q: Is there any way that you could determine the amount
[20] of inoculum that was in the specimen that was drawn in this
[21] case?
[22] A: No.
[23] Q: Is there any way, having and knowing what was in the
[24] cerebral spinal fluid on the 7th, the tap that was done, that
[25] you could use that and determine what was present on the 4th?

Page 351

Page 353

[1] question. "Is this bottle perfectly clear, or is there any
[2] haziness to the broth?"
[3] If the answer is haziness, then it's a positive
[4] blood culture visually, and you begin to process the positive
[5] culture along the lines that I have suggested.
[6] Q: Looking at this report, Doctor, that's here, the L42
[7] which is the time that's stamped 7:46 on the 5th, can you tell
[8] when, if at all, any of these things were seen or observed one
[9] way or the other, from it alone?
[10] A: Well, there's a stamp that's on the right side of
[11] the slip, which is in a position superimposed on the word
[12] "reported."
[13] Q: Right.
[14] A: And it reads, "11:00 a.m.," and I cannot see the
[15] date.
[16] Q: I have 1971, January 11. Okay?
[17] A: Okay. So it must be that it was reported 10:00 a.m.
[18] on January 11, and I assume that that was the time in which the
[19] final identification took place, not the time of the first
[20] reporting. We know from the progress notes that the positivity
[21] was reported on the 7th. That's the only information I have.
[22] Q: So you can't be any more specific; correct?
[23] A: That's correct.
[24] Q: Yes?
[25] A: That's correct.

[17] auger independent of the presence of visible growth. I don't
[18] know what the procedure was at this hospital.
[19] Q: With the first procedure, Doctor, what, in your
[20] opinion, on a probability basis would have occurred?
[21] A: It would have been a negative gram stain.

Page 354

{1} accelerated by approximately a day.

{2} Q: Why is that?

{3} A: Because of the fact that you may be able to recover
{4} small numbers of organisms on the subculture that would not
{5} have given rise to opacity or haziness on the visual
{6} mspecuon.

{7} Q: In the instance. Doctor, where you observed the
{8} blood culture specimen within 24 hours to see what you opmed
{9} to be growth. all right, did you undertake or order in that
{10} instance a spinal tap?

{11} A: It would depend what the gram stain showed. and it
{12} would depend on the clinical condition of the pauent.

{13} Q: If the patient were other than life-threatened
{14} because of any other cause, or there was no - let's restate
{15} that. Strike it.

{16} if there was no contraindication to a lumbar
{17} puncture, did you order one in those patients?

{18} A: As I said, it would depend on the nature of the gram
{19} stain. In other words. the presumptive diagnosis of the
{20} orgarum. as well as the patient's condition. If the patient
{21} were entirely well and the gram stain suggested that the
{22} orgarum was a contaminant, then a spinal tap would not be
{23} performed. If the child was entirely well and the gram stain
{24} of the spmal fluid revealed presumptive pneumococcus, then a
{25} spinal tap would not normally be performed. If the child were

Page 355

{1} sick. you would do a spinal tap whether you found out anything
{2} from the blood culture or not, anyway.

{3} Q: Fine.

{4} A: And finally, if the gram stain showed either
{5} presumptive Hemophilus influenzae type B or meningococcus, you
{6} would perform a spinal tap independent of the child's
{7} condition.

{8} Q: Tell me why you would do it if the child were sick.

{9} A: Because sick children with fevers, with positive
{10} blood cultures, deserve spinal taps independent of what the
{11} reading of the gram stain is, because gram stains can be false
{12} mformation. So you have a sick child now who has a positive
{13} blood culture of some sort who has a fever. That child
{14} deserves a spinal tap, period.

{15} Q: If one such as yourself. when you did it, saw
{16} visible growth within the first 24 hours and the child was
{17} sick, would the visualization by you of that culture be a
{18} positive blood culture?

{19} A: Yeah. It was a positive blood culture. Whether it
{20} was a true positive or a false positive you couldn't tell. But
{21} it would be a positive blood culture.

{22} Q: So seemg haziness, opacity, or visible growth or
{23} lysis would make it positive? Any one of those?

{24} A: That's correct.

{25} Q: Now, you said if it was presumptive HIB, lumbar

Page 356

{1} puncture would be done. What would make it presumptive HIB?

{2} A: Gram negative pleomorphic rods seen on the gram
{3} stain.

{4} Q: And how long would it take on a gram stain under the
{5} circumstances to determine the presence of pleomorphic rods?

{6} A: Five minutes, ten minutes.

{7} Q: And if within five to ten minutes one noted
{8} pleomorphic rods, then you would say a lumbar puncture should
{9} be done: correct?

{10} A: That's correct.

{11} Q: Okay. I take it, Doctor. you have no idea of what
{12} was seen by the person who did these at any of the times that
{13} were mvolved: correct?

{14} A: Correct.

{15} Q: With reference, Doctor. to this child, did this
{16} child at any time, in your medical opinion, ever have sepsis?

{17} A: No

{18} Q: Is it your medical opinion. Doctor. that he had
{19} meningitis?

{20} A: Yes.

{21} Q: Is it your medical opinion that one generally can
{22} have - strike that. Did this child at some time become
{23} bacteremic?

{24} A: Yes.

{25} Q: Is it your medical opinion, Doctor, this child went

Page 357

{1} from being bacteremic to then becoming meningitic without
{2} having any evidence of sepsis?

{3} A: Yes.

{4} Q: Define as you're using sepsis.

{5} A: Sepsis is a child who has bacteremia and is
{6} clinically ill with an illness that is global and is, to the
{7} examiner, one of serious import.

{8} Q: Does it require all of the things you just said,
{9} Doctor?

{10} A: Yes.

{11} Q: Have you ever seen and recognized a different
{12} definition for that, for sepsis, Doctor?

{13} A: Well, you know. there are probably as many
{14} variations on that definition as there are people who write
{15} about it. But most people will seize on the two key elements,
{16} which is bacteremia plus a child who is significantly ill.

{17} Q: Was this child, in your opinion, at any time ever
{18} seriously or clinically ill?

{19} A: Yes, he was. but by that time, he had clinical
{20} meningitis.

{21} Q: Does the presence of clinical meningitis rule out
{22} there being sepsis?

{23} A: No. but what happens is that it makes the diagnosis
{24} of sepsis not a useful diagnosis. Many people will lump them
{25} together and say meningitis and sepsis. But if one wants to

Page 358

Page 360

[1] say ch c a l sepsis in a chdd who ha5 meningitis as well, one
[2] would look for other findings more characteristic of sepsis.
[3] For example, poor perfusion, prolonged capillary refill, cold
[4] extremities, clinical shock.
[5] Q: Did anyone in the records anywhere, one way or the
[6] other, indicate that was done as normal, or does it indicate
[7] whether it was done or not done, one way or the other, looking
[8] for what you just described?
[9] A: Well, there was no specific notation one way or the
[10] other for those particular items, but the **usual** way of doing
[11] these things is to not write down the negative findings, so
[12] that the absence of any commentary regarding those issues is a
[13] sign that they were not present.
[14] Q: Doctor, in your deposition on page 143 -
[15] A: Just a moment, sir. Let me find that thing. All
[16] right. Go ahead, sir.
[17] Q: Line 10 through 12, "My opinion is that if
[18] antibiotics had been given at an earlier time for a **similar**
[19] indication, that the outcome would have been the same?"

[1] or death?
[2] A: That's correct
[3] Q: And correlated. Does that mean on a statistical
[4] basis?
[5] A: Well, the analysis that I used, as you know, from
[6] reading the article, does not invoke numerical statistics
[7] What it looks at **is** a way of judging causation or causative
[8] links between events and outcomes using an epidemiologic
[9] technique that **is** used in many other instances for **thus**
[10] particular purpose
[11] Excuse me, sir. I'm not done. In that sense, it's
[12] not statistical for the reason - or in the common use of the
[13] word "statistics," meaning a certain relative risk was not
[14] calculated, an odds ratio was not calculated, and the
[15] conclusion was not represented with a quantifiable degree of
[16] certainty based on a beta value. In that sense, statistics
[17] were not used, but it was a recognized epidemiologic technique
[18] for making the judgment
[19] Q: Tell me the recognized epidemiologic technique by

Page 359

Page 361

[1] meningitis, does one withhold the giving of the antibiotics on
[2] the basis of thinking it won't make a difference?

[1] A: I see. If you'll see on page 694, under the
[2] heading, "Criteria for the assignment of causation"

[9]

to

Page 362

[1] many more studies in order to try to see if there were a
[2] countervailing argument that could be based on biology alone.
[3] I think you and I have already discussed that. And then, of
[4] course, I reviewed the animal studies, of which the rhesus
[5] monkey studies were the most Important.

[6] Q: Would you agree that only 9 percent of the studies
[7] that you used, reviewed, met preestablished minimal
[8] experimental design criteria?

[9] A: Well, now, you understand that the minimum design
[10] criteria was a creation of my own, in which I sought to in some
[11] way judge each article based on the presence of important
[12] information or analytic techniques.

[13] Q: That's why I'm asking you.

[14] A: I understand. That was my preface.

[15] Q: Okay.

[16] A: And in the studies that I reviewed, those 22, only 9
[17] percent of the studies actually met all three of the minimum
[18] design criteria that I posited as being important in conducting
[19] such studies.

[20] Q: And only 50 percent of them used any statistical
[21] analysis to evaluate results; right?

[22] A: That's correct.

[23] Q: And isn't it correct, Doctor, that the four
[24] concomitant cohort studies that were reviewed found no
[25] relationship between duration of symptoms of bacterial

Page 363

[1] meningitis and either hearing deficits or neurological
[2] sequelae?

[3] A: That's correct. The four prospective studies,
[4] so-called, did not find any relationship.

[5] Are you still there?

[6] Q: I'm just looking at my notes. Now, with regard,
[7] Doctor, to - I'm reading my notes. Just bear with me.

[8] Is there any relationship, Doctor, between category
[9] 1, 2, and 3 and the results that will be found on blood
[10] cultures?

[11] A: I don't understand the question.

[12] Q: Is there any relationship between the three
[13] categories that you have used to define your article that we've
[14] talked about and what will be found on early blood cultures?

[15] A: What do you mean by an early blood culture, sir?

[16] O: Before the lumbar puncture. What would you expect
[17] to find on blood cultures done hours or days before on those
[18] patients before the confirmation by a lumbar puncture?

[19] A: In the type of meningitis that we're dealing with,
[20] which is hematogenous meningitis, all of the patients in all of
[21] the groups would have a positive blood culture.

[22] O: Why is that, Doctor?

[23] A: Because the process by which meningitis occurs
[24] requires a bacteremia first.

[25] Q: Now, hematogenous, just so we're on the same

Page 364

[1] wavelength, means spread by the blood; is that right?

[2] A: That's correct.

[3] Q: And therefore, once the bacteremia takes place, if
[4] you take a blood culture, you would expect it to be positive
[5] for the disease; correct?

[6] A: That's correct.

[7] Q: Now, Doctor, maybe this sounds different or silly,
[8] but I'm going to ask a question. If one, in dealing with
[9] cancer, does a histological slide and takes a piece of it and
[10] puts it under the microscope, that particular pathological
[11] slide may or may not have a cancer cell in it; correct?

[12] A: Correct.

[13] Q: Is the same thing true with the drawing of the
[14] blood?

[15] A: The answer is no. It's not the same as drawing of
[16] blood.

[17] Q: Why?

[18] A: But I must add to that that a person can be
[19] bacteremic and have a false negative blood culture mainly
[20] because of technical reasons. In other words, too small a
[21] sample was obtained, there was some difficulty in the
[22] processing of the specimen, something like that.

[23] Q: Leaving aside false negatives or false positives,
[24] leaving those aside for the moment, once there is bacteremia
[25] where the HIB organism enters the blood, the MB, once it

Page 365

[1] enters the blood, Doctor, any drawing of the blood, would you
[2] expect that organism to be found in a cell?

[3] A: I'm sorry, what was the last part of that sentence?

[4] Q: Would you expect that organism to be found in a
[5] droplet of the blood?

[6] A: Well, no. And I'll tell you why. Bacteremias can
[7] be described based on the density of organisms in the blood,
[8] and it's usually described as a number of organisms per cubic
[9] centimeter of blood, per cc of blood. And there are
[10] bacteremias of a very low grade in which you may have between
[11] one and ten organisms per cc of blood. If the sample you
[12] obtain is one drop or a half a cc or whatever, you may not have
[13] an organism in that particular sample. Even though the
[14] organisms are dispersed throughout the blood, the concentration
[15] is low.

[16] Q: Therefore, with that knowledge or forethought, is
[17] there an amount of blood which is routinely drawn to make sure
[18] you have adequate amounts of blood so that you can pick up the
[19] organism as a matter of routine in the 1970s?

[20] A: Yes, as a matter of routine in the 1970s and now,
[21] there were some general guidelines as to the amount of blood.

[22] Q: What were those guidelines required?

[23] A: In general, more is better, but a minimum of 1 cc of
[24] blood was usually recommended.

[25] Q: And that would be so that you could attempt to get

Page 366

Page 363

[1] whatever organism was in the blood: correct?
[2] **A:** That's correct.
[3] **Q:** Is [here. Doctor. with regard to bacteremia, a
[4] clinical picture of signs and symptoms that are associated with
[5] it?
[6] **A:** Well, one can have bacteremia without any findings
[7] at all.
[8] **Q:** It can be silent; correct?
[9] **A:** That's correct. In general, the first alteration in
[10] health, in someone who has a bacteremia is fever, and the
[11] bacteremia may only have fever as a footprint of its presence.
[12] **Q:** Is it kind of like a red flag waving when you have
[13] fever?
[14] **A:** I call fever a clinical stop sign, as you'll see in
[15] the article that you have in your hands.
[16] **Q:** Clinical stop sign; right, Doctor?
[17] **A:** That's correct. I like that better than "red
[18] flag."
[19] **Q:** Well, I like "clinical stop sign" better, myself.
[20] It's the first time I have ever seen it written. What were you
[21] trying to convey by that statement, Doctor?
[22] **A:** Well, you know that the article was written for
[23] clinicians who encounter young children with fever as part of
[24] their daily practice, and what I tried to suggest is that
[25] fever, in and of itself, is not dangerous, does not in and of

[1] Doctor?
[2] **A:** I have many thrusts in my article, sir.
[3] **Q:** Well, Doctor, in your summary, you have certain
[4] particular statements that you make that you want to bring to
[5] the attention of the physician: correct; right?
[6] **A:** Sounds like you have an infectious disease, Mr.
[7] Goldberg.
[8] **Q:** I do. It's called working too hard and not getting
[9] enough sleep.
[10] **A:** Yeah, I have some summary points which are listed on
[11] page 404 of the article.
[12] **Q:** And among others, Doctor, does one of them say that
[13] compulsive follow-up provides the only safety net for febrile
[14] children with occult infection?
[15] **A:** That's correct.
[16] **Q:** What does that mean?
[17] **A:** It means that since all approaches to children with
[18] fevers are imperfect in a world which itself is imperfect, only
[19] keeping in touch with the family and the child's condition over
[20] time provides the safety that one would like to have with
[21] regard to this issue.
[22] **Q:** And in one of the concluding summary remarks it
[23] says, "In the end, fever acts as a clinical stop sign, a
[24] warning to stop, look and listen and proceed with caution."
[25] Correct?

Page 367

Page 369

[1] itself cause injury, but it can act as a cautionary note in a
[2] child so that the clinician does stop, **look**, listen and proceed

[1] **A:** Yeah, I said that.
[2] **Q:** And that's your opinion, isn't it?

[16] **Q:** And there is a greater association between fever and
[17] bacteremia and HIB than other meningitides; right?
[18] **A:** In general, children who have fever are unlikely to
[19] have HIB disease, as opposed to other organisms, and most

[16] meningitis, Doctor, **do** not have bulging fontanelle reported or
[17] associated with it?
[18] **A:** Greater than 50 percent.
[19] **Q:** Do you know exactly how high it is in the

{1} A: I think that order of magnitude is correct. sir.
{2} Q: Let's go on to the next part of **this**. Doctor, in
{3} one of your articles that you wrote, you were talking about
{4} bacterial meningitis being one of the leading causes of
{5} malpractice; correct? Failure to diagnose that?
{6} A: No. I **think** I suggested that the alleged failure to
{7} diagnose meningitis is one of the leading causes of alleged
{8} malpractice.
{9} Q: Accepting that as the statement. Doctor, may I ask
{10} where you got that data from?
{11} A: The alleged **data**.
{12} Q: I don't see a -
{13} A: Right. It was, I believe, a - well, there is a
{14} reference which is reference 5 on the **1994** article, and I
{15} believe that the information **is** derived from that article.
{16} Q: I **think**. Doctor. it cites footnotes reference 1 and
{17} 2.
{18} A: **Now**, I may be looking at a different article than
{19} you, sir.
{20} Q: Okay. Well, I'm looking at the one that sites W.O.
{21} **Robertson, "Meningitis: Cutting your legal risks."** Did you
{22} read that article?
{23} A: Can you tell me what reference in what article of
{24} mine you're referring to?
{25} Q: Article number **14**, "The effect of a recent previous

{1} involving failure to diagnose meningitis?
{2} A: **No**.
{3} Q: Do you believe that some of those claims are
{4} meritorious?
{5} A: I don't know from any personal experience. **sir**.
{6} Q: You have no opinion one way or the other; is that
{7} correct!
{8} A: I have no way of knowing the totality of the cases
{9} brought and the factual situations of each.
{10} Q: Do you **think**, Doctor, that this or any of your
{11} articles and any of your thoughts have been used as a vehicle
{12} by lawyers in an attempt to defeat causation in a malpractice
{13} action?
{14} A: Since my **1992** article. **sir**. is probably the best
{15} summary and analysis of what was known at that time regarding
{16} the issue of causation, and since those conclusions have been
{17} validated by subsequent articles, I would hope that it **is** used
{18} in order to shed light on the entire question of causation in
{19} individual cases.
{20} Q: Doctor, **you** believe it's one of the best - let me
{21} ask you, other than the **two** that you cited, can you cite any
{22} others?
{23} A: Any other what, **sir**?
{24} Q: Articles that support your position.
{25} A: I don't **think** there **has** been anything else written

{2}

{3}

{10} Q: Okay. And you cite number 5. All right. Now I see
{11} what you're talking about here. D.A. **Talan, Guterman,**
{12} "Emergency department management of suspected bacterial
{13} meningitis," **Annals of Emergency Medicine**; right?
{14} A: **Annals of Emergency Medicine**; that's correct.
{15} Q: Did you read that article?
{16} A: Yes, I did.
{17} Q: And Doctor, do you know where the underlying
{18} information from that was obtained?
{19} A: I don't recall now, no.
{20} Q: Have you ever been sued, Doctor, for failing to
{21} diagnose meningitis?
{22} A: **No**.
{23} Q: Have any of your colleagues?
{24} A: **No**.

{10} literature ended up **with** no sequelae of any kind?
{11} A: Before answering that, I'm going to look at a copy
{12} of the Baraff article that I sent to Ms. McDonald.
{13} Q: **83.6** percent. It's the first page, to save you some
{14} time.
{15} A: Referring to page **392**.
{16} Q: **389**.
{17} A: Well, I'm referring to page 392 to answer your
{18} question.
{19} Q: Okay.
{20} A: Table 3, looking at outcomes and percentages, and
{21} you can see in that table it's broken down by **organism**. There
{22} **does** not seem to be any information on the percentage of
{23} **normality** of streptococcus pneumonia. 90 percent of children
{24} with meningococcal meningitis are normal, and 73.9 percent of

[1] Q: And Doctor, on front of the article, does it
[2] indicate and state that in 83.6 percent there were no
[3] detectable sequelae?

[4] A: Can you tell me what you're reading from? Oh,
[5] you're reading from the abstract, are you?

[6] Q: I'm reading from the actual footnote or the heading
[7] of the article, Doctor.

[8] A: That's what it says in the summary, but of course,
[9] if one actually wants to know what an article says, it's better
[10] to look at the text of the article, and I think table 3 conveys
[11] the information gathered by Dr. Baraff.

[12] Q: Who is Dr. Baraff?

[13] A: He's a pediatric emergency medicine specialist who
[14] works at UCLA Medical Center.

[15] Q: Someone you consider to be recognized in the field?

[16] A: He's had a number of publications regarding febrile
[17] children, and has collected a large amount of information and
[18] has presented it in very cogent form. This is an example of
[19] such a collection.

[20] Q: Doctor, do you consider the Feigm and Cherry
[21] Textbook of Pediatric Infectious Diseases to be one of the
[22] better known and recognized works in the field of infectious
[23] disease?

[24] A: It's certainly the heaviest.

[25] Q: Is that by weight, or by the value?

[1] Q: And if it was to be reported in the literature to be
[2] as high as 9 percent, you would disagree with that?

[3] A: Well, I'd certainly like to see who gathered that
[4] information and what their population was

[5] Q: What's your population and experience been, Doctor?

[6] A: My population and experience has been that it is a
[7] rare cause of ear infections

[8] Q: How many cases that you have diagnosed, managed and
[9] treated otitis media were caused by HIB type B, typeable type?

[10] A: I understand. You know, we don't do tympanocentesis
[11] routinely in ear infections, and therefore, the etiology of the
[12] ear infection in the vast majority of the patients that we see
[13] go on to be undiagnosed with respect to the causative agent

[14] Q: Well, while you were at Colorado, did you ever speak
[15] with or read any works or studies done by Roger Barkin as to
[16] what was the percentage of otitis media seen at the various
[17] centers in the university setting, where otitis media was
[18] involved, and type HIB, the typeable type, was related to the
[19] otitis media? Was that center reported, that you were at?

[20] A: Yeah. Again, if you could refer me to the article,
[21] it would certainly help me out

[22] Q: But you don't remember?

[23] A: I don't remember specifically that article, and
[24] studies of the causative agents of ear infections must be
[25] looked at in the aggregate, because the organisms that colonize

[1] A: Well, it weighs the most, costs the most, and has
[2] the most words in it.

[3] Q: How about the value of the words?

[4] A: I think that it's probably the most complete
[5] compendium of information in a textbook form in Pediatric
[6] Infectious Disease.

[7] Q: Do you know, Doctor, from your own experience or
[8] from any of that published in the literature, what the
[9] percentage of H. B. influenzae accounts for otitis media being
[10] a causative relationship?

[11] A: I don't understand the question.

[12] Q: Have you ever read literature indicating otitis
[13] media, Doctor, was caused by H. influenzae?

[14] A: Yes, if you look at nontypeable Hemophilus
[15] influenzae, depending on the study, somewhere between 15 and 25
[16] percent of cases are caused by that group of organisms.

[17] Q: How about the typeable, Doctor?

[18] A: Of the typeable ones, they're rare causes of ear
[19] infections in general. That's types A through E

[20] Q: And do you know what percentage of typeable - not
[21] the nontypeable, but typeable - Hemophilus influenzae type B
[22] are reported to be that which is related to otitis media?

[23] A: Again, I believe you asked me this earlier on, and I
[24] would say less than 5 percent, and maybe even less than 1
[25] percent.

[1] children and therefore lead to ear infections can have peculiar
[2] community differences, so that one can see a resurgence of a
[3] certain organism in one community that's not reflected in other
[4] communities, and it's very treacherous to draw global
[5] conclusions based on a single study.

[6] Q: Well, Doctor, you're assuming I was referring to a
[7] single study.

[8] A: As I said, I wasn't inferring anything. I was
[9] making a general statement. What I asked was the particular
[10] reference that you were referring to.

[11] Q: It would be dangerous to rely on a single study for
[12] that purpose, correct?

[13] A: That's correct. One has to look at this information
[14] in the aggregate, knowing that there are community differences.

[15] Q: And wouldn't you think that would also apply,
[16] Doctor, with diseases other than otitis media?

[17] A: Such as what, sir?

[18] Q: Meningitis.

[19] A: Yes. To some degree, that's true. And that's why
[20] if one has information regarding the prevalence of organisms
[21] and their relationship to disease in the very community in
[22] which a case arises, that's obviously the superior
[23] information. Lacking that, one has to look at more global
[24] information, but the most treacherous of all is to look at one
[25] community and compare it or try to draw conclusions regarding

[1] another community.

[2] Q: Can you cite me any article, journal, literature
[3] text or study that you have read or done or been involved with
[4] dealing with what is reported globally to be the relationship
[5] percentagewise of otitis media being caused by the Hib typeable
[6] type?

[7] A: Gee, if I knew you were going to ask this question.
[8] sir, I would have brought some of that with me. I can't do it
[9] off the top of my head.

[10] Q: Where would you look, Doctor?

[11] A: Well, I would probably first go to my files and find
[12] out in the published information that represent global
[13] prevalence rates what is known about it. And if I couldn't
[14] find out the information there, I would probably begin my
[15] search with one of the recognized textbooks and use the
[16] bibliography of the textbook to go to the original articles.
[17] And if that wasn't helpful, I would do a Medline search.

[18] Q: And Doctor, wouldn't you assume that if Drs. Feigin
[19] and Cherry wrote an article on the subject itself, that they
[20] would do what you just described, go to the footnoted articles
[21] and then come to the more reliable and correct decision,
[22] conclusion before they published?

[23] A: Well, as you know, Dr. Feigin and Dr. Cherry didn't
[24] write every word in their textbook. and I don't know who wrote
[25] the chapter on otitis media in their textbook, so I would have

[1] to make any pronouncement of that.

[2] Q: You don't? What kind of information would you need
[3] for you to believe that such a pronouncement would be correct?

[4] A: Someone would have to have made an etiologic
[5] diagnosis of the cause of the otitis media, and then have
[6] subsequent clinical information regarding those individuals
[7] with such a disease who remained untreated, as compared to
[8] children who were treated, and to calculate a relative risk or
[9] an odds ratio of progression to meningitis to answer the
[10] question.

[11] Q: Do you know if that was done in any of the articles?

[12] A: I doubt very much whether it has been done.

[13] Q: Nevertheless, if it hasn't been done, do you believe
[14] that doctors would not be reasonable and qualified in rendering
[15] conclusions as to the relationship of their study?

[16] A: I can't speak for other doctors, sir. I try to
[17] answer questions to a reasonable degree of medical
[18] probability. And there's no information on which I can base
[19] any opinion to try to answer the question that you just asked.

[20] Q: Has it been your experience, Doctor, that in
[21] relation to meningitis - influenzae type B we're talking
[22] about - that signs of meningeal inflammation may be minimal in
[23] the infant?

[24] A: If one defines meningeal inflammation and the signs
[25] of it to indicate the clinical findings of nuchal rigidity and

[1] to actually go look at their newest edition of the textbook in
[2] order to answer your question.

[3] Q: Whoever wrote it would do that which you just
[4] described, wouldn't they, if they were reasonable?

[5] A: Again, I'd like to see what information they were
[6] providing and what the sources of that information was.

[7] Q: Well, let me ask you something, Doctor. Is Dr.
[8] Feigin a person who you believe would be qualified to write
[9] about otitis media?

[10] A: Yes, I believe he'd be qualified.

[11] Q: How about Dr. Mark W. Wine?

[12] A: Jerome Klein Mark Wine?

[13] Q: I'll get to Jerome Klein in a minute.

[14] A: You mean K-L-I-N-E?

[15] Q: Yes, sir.

[16] A: Well, he certainly has been trained in pediatric
[17] infectious diseases. I'm sure that if he put his mind to it,
[18] he would be capable of writing an article.

[19] Q: How about Gerson Specter?

[20] A: I do not know Dr. Specter.

[21] Q: Doctor, is it your personal experience that
[22] inadequate treatment of otitis media which is caused by H
[23] influenzae type B can directly influence the likelihood of the
[24] development of meningitis?

[25] A: I don't think that there is any information on which

[1] other maneuvers to elicit spine pain, the answer is yes.

[2] Q: Well, nuchal rigidity, Doctor. is something which
[3] may occur late in the young child? Isn't that true, under
[4] those circumstances?

[5] A: Late with respect to what, sir?

[6] Q: Late in terms of the progression of the disease.

[7] A: Well, I have to disabuse you of all of this early
[8] and late business, you know. Early and late is really a
[9] fabrication of people who try to look at children with general
[10] and nonspecific findings prior to a diagnosis being made, and
[11] attributing the entire disease to meningitis. That's just
[12] frankly not true.

[13] Q: I see. So anyone that would write such a statement,
[14] you would say you have a better reason to say they're wrong and
[15] you're right; correct?

[16] A: I certainly have the reasons that I can represent
[17] well, and I would certainly like to hear the reasons that the
[18] other people have for coming up with their particular scheme.

[19] Q: Eventually you shall. With regard, Doctor, to
[20] Fildes for blood cultures - I'm talking about Fildes or
[21] Leventhal media -

[22] A: Yes, those are two other media that have been used
[23] for blood cultures. My understanding is that they would both
[24] need to have H and V factors added to them in order to grow
[25] Hemophilus influenzae type B

Page382		Page 384	
[1] Q: How about a chocolate agar, [2] A: Again. chocolate agar is not a media which is used [3] for the primary culturing of blood. but it would be used for [4] subculture of blood, because it is chocolate agar that is agar, [5] blood agar which has been heated. it has H and V factor in it [6] already [7] Q: Now, there have been articles that reported the [8] long-term outcome of patients that had bacterial meningitis [9] that were treated. Doctor, besides the ones you have cited? [10] A: Yes. there have been many articles of that sort. I [11] think most of them are referred to in Dr. Baraff's review [12] article which you have in your hands. [13] Q: Have you ever heard of Dr. Sell? [14] A: Yes. Dr. Sarah Sell. yes [15] Q: Is she a recognized person in the field of [16] infectious disease, [17] A: She has done follow-up studies on children with		[1] Q: No At any time up until the 7th, before the [2] hospital. in the hospital, I'm just looking - did he receive a [3] DPT inoculation? Vaccination, excuse me [4] A: I don't know for a fact, because I don't remember [5] seeing a review of the immunization history in the clinic [6] notes. [7] Q: Did this patient receive any vaccinations for [8] anything? [9] A: Yes. On the 27th of December the child received a [10] measles immunization. [11] Q: Do you know why that was given? [12] A: I don't know for a fact why it was given. I presume [13] it was being given because the child was going to be admitted [14] to a contagious disease hospital in which there was measles [15] present, and they wanted to try to protect the child [16] Q: Did this child ever develop, in your opinion. or [17] have measles?	
[24] a recognized person in that field? [25] A: Again, she published articles with regard to		[24] A: Five to ten percent. [25] Q: And isn't that fever something which should be known	
Page 383		Page 385	
[1] follow-up, yes		[1] that might or could occur?	

Page 386

[1] Q: Have you ever heard of Jerome Klein?
[2] A: Yes, I have.
[3] Q: Who is Jerome Klein?
[4] A: He's a professor of pediatrics and the chief of
[5] pediatric infectious diseases at Boston City Hospital.
[6] Q: And is he a recognized member in the field of
[7] pediatric infectious diseases?
[8] A: He's a recognized member in the field, yes.
[9] Q: How would you describe his reputation in the
[10] community?
[11] A: I think that most people feel Dr. Klein is a very
[12] knowledgeable, honorable person who has been doing infectious
[13] disease for many years.
[14] Q: Is he someone you would expect, when he would write
[15] on a given subject, **would** footnote and give references to his
[16] sites or statements in **his** articles?
[17] A: It depends on the vehicle in which his writings
[18] appear.
[19] Q: The vehicle?
[20] A: That's right. In textbooks, for example, footnotes
[21] and references are usually absent or very minimal. In review
[22] articles and primary publications in peer review journals,
[23] they're usually there in abundance.
[24] Q: Do you know whether any of the articles that were
[25] contained and written by Dr. Klein in textbooks were also the

Page 387

[1] subject of articles initially that were published in peer
[2] review journals?
[3] A: I don't know, because I don't know what you're
[4] referring to in Dr. Klein's bibliography.
[5] Q: Well, would you expect a lot of times that people
[6] don't like to reinvent the wheel and if they write a good
[7] article, they might use it as a chapter in a book, **as** well?
[8] A: Typically they don't, because the format is entirely
[9] different between a published article, on the one **hand**, and a
[10] textbook chapter, on the other.
[11] Q: Is Dr. Klein someone that you would say has a high
[12] degree of stature in the pediatric infectious disease
[13] community?
[14] A: I think as an individual he has great stature and
[15] admiration from people. Regarding specific viewpoints and
[16] conclusions in infectious diseases, he's there with **all** the
[17] rest of **us**.
[18] Q: So he's no more or less than any of you; is that
[19] correct, in your opinion?
[20] A: It depends on what the issue is, sir.
[21] Q: How about meningitis? Is that something that he's
[22] well-recognized as one of the world's leading authorities?
[23] A: Well, again, it depends what area of meningitis.
[24] Dr. Klein has been taking care of children for a long period of
[25] time, and he has published review articles and primary articles

Page 388

[1] in the field of meningitis. But you have to define the
[2] circumstances in which his expert opinion is being represented
[3] in order to know whether Dr. Klein's opinion comports with what
[4] is known and what is accepted and reasonable conclusions.
[5] Q: Have you ever heard of Dr. John Nelson or Wayne
[6] Koontz?
[7] A: I have heard of Dr. John Nelson, and I have not
[8] heard of Dr. Koontz.
[9] Q: Who is Dr. John Nelson, and what have you heard of
[10] that physician?
[11] A: He's a professor of pediatrics at the University of
[12] Texas Southwest Medical Center, and he is one of the coeditors
[13] of the Pediatric Infectious Disease Journal.
[14] Q: Recognized authority in the field?
[15] A: Again, **same** answer as with Dr. Klein. He's very
[16] respected. He's a very honorable man. He's been doing
[17] infectious disease for a long period of time.
[18] Q: How about Dr. Edward Shaw? Did you ever hear of
[19] him?
[20] A: No, I have not heard of Dr. Shaw.
[21] Q: Have you ever heard of Dr. Stuart Levin?
[22] A: Yes, I have seen the name of Dr. Levin, but I don't
[23] know in what context.
[24] Q: Do you think he would be someone that would be a
[25] recognized authority involving fevers and bacterial meningitis?

Page 389

[1] A: I don't know the context in which I know Dr. Levin's
[2] name, and therefore, I cannot answer your question.
[3] Q: Do you know, Doctor, or have you read anything that
[4] would indicate what the policies were in 1968, 1969, 1970, and
[5] 1971 with the Municipal Contagious Disease Hospital?
[6] A: Policies with respect to what, sir?
[7] Q: What they would **do** on their initial workups.
[8] A: I do not know anything about the policies and
[9] procedures at that hospital.
[10] Q: If you were to be shown information concerning what
[11] their policies were regarding, in fact, **this** case at the time
[12] in question, you would take that into consideration if it was
[13] from a reliable source, wouldn't you?
[14] A: Depends what it is I'm seeing, **sir**.
[15] Q: If it was from a physician that was on the **staff**
[16] that was there.
[17] A: Well, again, it's hard for me to know what I would
[18] say if I were given something to look at, **until** I see it.
[19] Q: Would that hold true for the chart, as well, Doctor?
[20] A: I don't understand the question.
[21] Q: Well, if you accept what's written, you have
[22] accepted what's written in the chart; correct?
[23] A: I accept that it was written at the time, yes.
[24] Q: Would you expect, Doctor, that **this** child would have
[25] looked sick to the observer on the 7th?

Page 390

Page 392

[1] A: The chdd did look sick to an observer on the 7th.
[2] Q: And would you expect that he would have known what
[3] he should do?
[4] A: Yes.
[5] Q: What does the phrase "look sick" mean, Doctor?
[6] A: Well, It means exactly what it says, sir.
[7] Q: What does it mean to you?
[8] A: It means that to the clinician, the chdd does not
[9] look well. the child looks toxic, the chdd looks significantly
[10] ill. To quote Dr. Sidney Gellis, another old-time
[11] pediatrician, the chdd **looks** and acts damn sick. There are a
[12] number of ways of trying to phrase what it is that you see in a
[13] chdd that, as a clinician, you're convinced that the child is
[14] not normal and worrisomely so.
[15] Q: Can a chdd look bacteremic?
[16] A: A child can look sick, and bacteremia or septicemia
[17] can be a cause of the sickness.
[18] Q: Have you ever written or published where you used
[19] the phrase "the child looked bacteremic"?
[20] A: I don't recall doing that, no.
[21] Q: If you, Doctor, were to see a child that looked
[22] bacteremic, would that conjure up a picture in your mind?
[23] A: Yes.
[24] Q: What would a chdd who looked bacteremic conjure up
[25] in your mind?

[1] A And then two lines down, I have also in quotation
[2] marks. "looks" good. which is to imply that clinical impression
[3] that a chdd is significantly ill or not significantly ill, and
[4] that goes to the issue of clinical judgment in trying to decide
[5] how to manage a chdd. So that on table 4, when you look at
[6] the modality column, which is the 5th column over - and "CJ"
[7] stands for clinical judgment - what I was trying to get at is
[8] that the clinical judgment is of that sort. To the clinician,
[9] the chdd looks well or looks significantly ill, and based on
[10] that differentiation, how good are they at predicting who has
[11] bacteremia versus laboratory tests,
[12] Q: And how good were they, Doc?
[13] A: They were better
[14] Q: So then one should be able, by looking at a chdd,
[15] to tell pretty reliably when they're bacteremic; correct?
[16] A: No, that's not what I said
[17] Q: Oh
[18] A: I'll try it again. When one tries to decide whether
[19] to do further testing or to embark on a therapeutic option like
[20] antibiotics or hospitalization, the clinical thought process is
[21] one of trying to establish the risk of illness in the patient
[22] You start off with a general impression of what that risk may
[23] be, depending on the clinical circumstances, height of the
[24] fever, and age of the patient
[25] You then do a physical examination, and based on

Page 391

Page 393

[1] A: The child would have a significantly altered level
[2] of consciousness, the chdd would have a diminished level of
[3] activity, would not be interactive with its environment and its
[4] loved ones, and could, in fact, have signs of clinical shock,
[5] cool extremities, mottled skin, poor peripheral pulses, poor
[6] capillary refill, rapid heart rate, and rapid breathing.
[7] Q: Doctor, would you be good enough to turn to Exhibit
[8] 10 the "Clinical Evaluation of the Febrile Infant"? Page 400.
[9] The third paragraph on the page. Or the second paragraph on
[10] the page. When you find it, let me know.
[11] A: The second full paragraph beginning with the
[12] height?
[13] Q: No, beginning with "reliable."
[14] A: Yes, I see it.
[15] Q: Dropping down about three-fourths of the way, do you
[16] see where it says, "Table 4 illustrates that a child who
[17] 'looks' bacteremic -"
[18] A: Yes, I see it.
[19] Q: Turn to table 4, Doctor.
[20] A: Yep, I see it.
[21] Q: Where in the article on page 400 or table 4 do you
[22] find written what you just described as the points or things
[23] that a child that **looks** bacteremic would be?
[24] A: You notice that I put "look in quotation marks.
[25] Q: Yes, sir, I do.

[1] that physical examination, you will raise or lower your
[2] likelihood of the child having a serious illness or not having
[3] a serious illness, and that clinical judgment, which raises or
[4] lowers the risk of infection in your mind, is the most
[5] important single thing that you can do in deciding what to do
[6] next. The information in table 4 corroborates the usefulness
[7] of the clinical impression.
[8] Q: Doctor, do you agree that the global impression of
[9] health or illness is best obtained by initial observation of
[10] the undisturbed child?
[11] A: It is best obtained that way, if one can actually do
[12] it. Sometimes that's not feasible. But it is, I think, the
[13] optimal way of trying to make that assessment.
[14] Q: And Doctor, do you agree that one should observe the
[15] child in the parent's arms, if possible?
[16] A: Yes. If possible, I think that's probably the best
[17] place to observe the child for making this judgment, but it's
[18] not always feasible.
[19] Q: And do you agree that the physician should listen
[20] and take into - should become aware of the parents' impression
[21] of the child's illness?
[22] A: Yes, I believe so.
[23] Q: And do you agree that the unclothed child, Doctor,
[24] should be examined, particular attention being paid to the
[25] state of alertness and playfulness and the degree of eye

Page 394

{1} contact?

{2} A: I **think** I said the clothed child, didn't I?

{3} Q: Unclothed child. Unclothed child.

{4} A: Well, the child should be looked at unclothed, yes,
{5} and the child should be assessed. To the extent that you're
{6} deciding on whether the child is interactive with the
{7} environment, makes direct eye contact, responds *to* social
{8} overtures and the like, I agree with that.

{9} Q: What **is**, Doctor, a localized sign?

{10} A: A localized sign, for example, would be a **focal**
{11} infection which explains the illness. Otitis media, acute
{12} pharyngitis, a rash w h c h explains the illness, a disuse of **an**
{13} arm or a leg, respiratory symptoms which might imply a
{14} pneumonia, diarrhea. These are all focal findings which can
{15} explain an illness.

{16} Q: How about a septic arthritis?

{17} A: Agam, that would be pain and disuse of a limb, and ✓
{18} that is a focal finding.

{19} Q: So an arthritis or a septic arthritis can be a local
{20} finding; correct?

{21} A: That's correct.

{22} Q: Have you ever heard of Heinz Eichenwald?

{23} A: Yes, I have.

{24} Q: **Is** he one of the recognized figures in infectious
{25} disease?

Page 395

{1} A: Well, I believe Dr. Eichenwald has been practicing
{2} pediatrics smce the mid-1950s. He's **also** a professor of
{3} pediatrics at University of Texas Southwest Medical Center.
{4} He's, again, as an mdividual, respected and honored.

{5} Q: Would you expect he's the kind **of** individual,
{6} Doctor, that would carefully review his sources of reference
{7} before he would publish articles in the literature?

{8} A: I would assume Dr. Eichenwald would take care before
{9} he published things, but you understand, of course, that many
{10} of these articles that are written are conclusionary articles,
{11} meaning that the writer then draws a particular conclusion. It
{12} may or may not be based on actual research **data**. **Most of** the
{13} things that are done in common pediatric practice **have** no bona
{14} fide research **data** on which to guide individuals, so there **is** a
{15} limit to what a person does based on published literature, and
{16} to that end, much of what one reads is the opinion of the
{17} person who's writing it.

{18} Q: Doctor, speaking of research, there **is** clinical
{19} research in a laboratory, scientific research on **animals**, or on
{20} humans, and then there's research of the literature: right?

{21} A: Those are all different ways of trying to answer
{22} questions, yes, **sir**.

{23} Q: The one that you did for purposes of the conclusions
{24} that you reached in this case was the review of the literature;
{25} correct?

Page 396

{1} A: No It was a review and analysis of literature

{2} Q: Excuse me A review and your own analysis of the
{3} literature

{4} A: That's correct

{5} Q: Do you believe that other doctors, in writing,
{6} articles, review the literature and make their own analysis of
{7} the same kind of literature you did?

{8} A: I have not seen another article which reviews the
{9} totality of the published information regarding the **timing** of
{10} antibiotics and outcome in bacterial meningitis.

{11} Q: **You** haven't, have you, Doctor?

{12} A: No, **sir**.

{13} Q: Have you seen in any other field of medicine such an
{14} analysis done by any other physician on any other subject that
{15} you **can** cite me to?

{16} A: Yes.

{17} Q: What?

{18} A: I **think** the first one of those studies that was done
{19} was done regarding the treatment of strep throat published in
{20} the early 1950s, and they were looking at the timing of the
{21} dosing of penicillin in strep throat and the risk of developing
{22} acute rheumatic fever.

{23} Q: Who is "they"?

{24} A: The authors there were Dr. Rammelkamp - and I'm
{25} trying to **think** of the other lead author in that series - Dr.

Page 397

{1} Wannamaker were the two lead authors. Dr. Floyd Denny was also
{2} involved in that research.

{3} Q: **And** did they do the same kind of study you did.
{4} Doctor?

{5} A: No. What they did **is** actually conduct - well, in a
{6} way, they did, but they were dealing with patients of their **own**
{7} that they were following both at an **Indian** reservation as well
{8} as an **Air** Force base, and what they did was to **look** at the
{9} duration **of** illness prior to the dosing of **penicillin**, and then
{10} the outcome with regard to acute rheumatic fever.

{11} Q: Was that a prospective or retrospective or both?

{12} A: That would be a " they gathered the information
{13} prospectively, **so** it would be a concurrent prospective study.

{14} Q: And did they do a meta-analysis of the literature or
{15} an analysis of their own research, Doctor?

{16} A: They were the first to ever do that kind of
{17} investigation, and theirs was the only information on which
{18} they could then draw a conclusion.

{19} Q: Did they do a meta-analysis, Doctor?

{20} A: No, they did not.

{21} Q: **How** long are you aware of meta-analyses being used
{22} in medicine, the type of which you did?

{23} A: No, I did not do a meta-analysis.

{24} Q: Or that was done by the Scandinavians.

{25} A: **Again**, I did **an** analysis using epidemiologic tools

Page 398

Page 400

[1] that I have already referred to. Meta-analysis as a way of
[2] drawing conclusions from published information, I believe, is a
[3] technique approximately ten years old. I may be erroneous in
[4] that, but I think that it's relatively recent.

[5] Q: Have you ever heard of a Richard Behrman?

[6] A: Yes.

[7] Q: Who is he?

[8] A: He is now, I believe, the director of the Packard
[9] Foundation in Palo Alto, California.

[10] Q: Is that a research center?

[11] A: No, it's a charitable foundation that funds
[12] research.

[13] Q: I see. And the research is in what area, Doctor?

[14] A: I don't know, sir.

[15] Q: Do you know that he had been a dean of a school of
[16] medicine?

[17] A: Yes.

[18] Q: Do you know a Victor Vaughn, III?

[19] A: Yes. I don't know him, but I know the name.

[20] Q: Do you know of Waldo Nelson?

[21] A: Yes. I know the name. I never met Dr. Nelson.

[22] Q: *Are* those recognized people in the field of
[23] pediatric infectious disease and in pediatrics?

[24] A: No, I don't believe so. Dr. Nelson. Dr. Joe Vaughn
[25] and Dr. Behrman I do not believe were infectious disease

[1] A: Yes, I do.

[2] Q: Along with, Doctor, the article, number 17, which I
[3] wasn't sent, would you this time be sure to gather those and
[4] give it to the court reporter to send to me?

[5] A: I will send them off to Ms. McDonald.

[6] MR. GOLDBERG: Ms. Court Reporter, will you just
[7] make a reference to the place where I have asked for this
[8] article and number 17, so it's referenced in some way?

[9] THE REPORTER: Yes, sir.

[10] Q: Do you know, Doctor, who was the author or authors
[11] of that position paper in 1986 and 1992?

[12] A: Again, it was not a position paper. It was a
[13] compendium of information.

[14] Q: Who was the author or authors?

[15] A: Dr. Ralph Feigin, Dr. Jerome Klein, and Dr. George
[16] McCracken, Jr.

[17] Q: *Those* are two of the very people I asked you about
[18] earlier; correct?

[19] A: That's correct.

[20] Q: Do you know why they were selected to write the
[21] compendium or author it?

[22] A: No, I do not.

[23] Q: Do you have any idea?

[24] A: No, I do not.

[25] Q: Would you believe the compendium to be a reliable

Page 399

Page 401

[1] specialists. Dr. Nelson and Dr. Vaughn I believe were general
[2] pediatricians at Temple University, if I'm not mistaken, and
[3] Dr. Behrman is a neonatologist.

[4] Q: Have you, Doctor, ever made use of any of the books
[5] written by Dr. Roger Barkin or others with him?

[6] A: We use Dr. Barkin's pediatric emergency medicine
[7] textbook in our office, and we refer to it. It's quite a good
[8] book.

[9] Q: By the way, Doctor, one of the things I had asked
[10] for the last time we were together was the white paper, the
[11] physician paper. Do you remember that?

[12] A: No, I don't remember that, sir.

[13] Q: You referenced a physician paper called the white
[14] paper.

[15] A: Well, I don't remember specifically referring to
[16] that, no.

[17] Q: Do you know what the white paper is?

[18] A: Well, there are many *things* called the white paper.
[19] I believe the one you're referring to is a 1986 publication in
[20] Pediatrics which was a compendium of information regarding
[21] bacterial meningitis. It was then updated in 1992.

[22] Q: Right. That's the one. The *last* time we met, you
[23] had referred to it, and I asked, would you bring it, and you
[24] said you had it in your office. You do have it in your office
[25] still?

[1] source of information concerning bacterial meningitis?

[2] A: It depends which aspect of meningitis you're
[3] referring to.

[4] Q: In either of those compendiums, Doctor, did they
[5] ever make reference or cite you in any footnote or refer to any
[6] of your articles?

[7] A: No.

[8] Q: What was the formal title of that Compendium, if you
[9] recall?

[10] A: I don't recall.

[11] Q: Was the subject matter primarily bacterial
[12] meningitis?

[13] A: Yes.

[14] Q: Are you a member of the American Academy of
[15] Pediatrics?

[16] A: No.

[17] Q: Have you ever heard of it?

[18] A: Yes.

[19] Q: Did you ever read any of the reports put out by that
[20] particular organization?

[21] A: What reports are you referring to?

[22] Q: Of the task force on diagnosis and management of
[23] meningitis. Did you ever read it?

[24] A: Well, are you referring to the so-called white paper
[25] that we've just been talking about?

Page 402	Page 404
<p>[1] Q: Well, Doctor, is the report of the task force on</p> <p>[2] diagnosis and management of merungitis what you consider to be</p> <p>[3] a white paper?</p> <p>[4] A: I believe that that was the 1986 white paper.</p> <p>[5] Q: And then it was reviewed or reupdated in 1992?</p> <p>[6] A: It was revised and updated in 1992.</p> <p>[7] Q: Do you know if it's put out by the American Academy</p> <p>[8] of Pediatrics. Doctor?</p> <p>[9] A: No. It is not.</p> <p>[10] Q: Was this a position paper?</p> <p>[11] A: Not to my knowledge.</p> <p>[12] Q: Is it something that you would have read in the</p> <p>[13] routine course of events?</p> <p>[14] A: Yes.</p> <p>[15] Q: With regard, Doctor, to Dr. Dellatorre, is it</p> <p>[16] correct that you do not know anything about her specific</p> <p>[17] educational background, training, experience, other than what's</p> <p>[18] reflected in the deposition?</p> <p>[19] A: That's correct.</p> <p>[20] Q: And you did read her deposition: correct?</p> <p>[21] A: That's correct.</p> <p>[22] Q: Hello. Are you looking? I can't tell what you're</p> <p>[23] doing.</p> <p>[24] A: I'm waiting for your next question.</p> <p>[25] Q: You did read her deposition?</p>	<p>[1] A: No.</p> <p>[2] Q: Have you ever lectured here?</p> <p>[3] A: Yes.</p> <p>[4] Q: Where and when?</p> <p>[5] A: I lectured there I think once or twice at meetings</p> <p>[6] of the American Academy of Pediatrics.</p> <p>[7] Q: Do I understand that's the same organization. when I</p> <p>[8] asked you a moment ago, you never belonged to?</p> <p>[9] A: That's correct.</p> <p>[10] Q: What were you speaking on?</p> <p>[11] A: I don't recall.</p> <p>[12] Q: What years was it?</p> <p>[13] A: I don't specifically recall.</p> <p>[14] Q: Well, what was the audience that you were speaking</p> <p>[15] to?</p> <p>[16] A: Pediatricians who belonged to the Academy.</p> <p>[17] Q: Have you ever been an examiner, Doctor, for any of</p> <p>[18] the board certifications that you are involved with?</p> <p>[19] A: No.</p> <p>[20] Q: Have you ever been an editor of any journal?</p> <p>[21] A: Yes.</p> <p>[22] Q: Are they all contained on your CV?</p> <p>[23] A: Yes.</p> <p>[24] Q: Have you been a reviewer for any journal?</p> <p>[25] A: Yes.</p>
Page 403	Page 405
<p>[1] A: That's correct.</p> <p>[2] Q: I didn't hear the answer. I'm sorry, Doctor. Would</p> <p>[3] the same thing hold true for Dr. Zarif?</p> <p>[4] A: Yes.</p> <p>[5] Q: Dr. Shastri. Did you read that deposition?</p> <p>[6] A: I believe I did. I didn't bring all those</p> <p>[7] depositions as a list with me. They're in the car, if you'd</p> <p>[8] like me to go get them. I can tell you which ones I have read.</p> <p>[9] but I believe they have already been read into the record.</p> <p>[10] Q: Do you recall reading Dr. Suhs' deposition?</p> <p>[11] A: Well, maybe I should just refer to my original</p> <p>[12] deposition, in which a list of those depositions are</p> <p>[13] contained. There were a very large number of depositions to</p> <p>[14] read, as you know.</p> <p>[15] Q: Right. And you haven't - the amount of reading you</p> <p>[16] did did not change from the beginning of the deposition;</p> <p>[17] correct? From part 1, you haven't read anything in the way of</p> <p>[18] depositions after that, as I understand it.</p> <p>[19] A: That's correct. Would you like me to look up the</p> <p>[20] list of depositions contained in my part 1 deposition?</p> <p>[21] Q: I have it, Doctor. I just wanted to make sure you</p> <p>[22] haven't read anything since then. That's all. Have you ever</p> <p>[23] been, Doctor, in Chicago?</p> <p>[24] A: Yes.</p> <p>[25] Q: Have you ever practiced medicine here?</p>	<p>[1] Q: And is that contained on your CV?</p> <p>[2] A: I'm not sure which edition of my CV you have, but I</p> <p>[3] have reviewed for a number of journals. The most recent of</p> <p>[4] which is the Journal of Pediatrics. If that's not contained on</p> <p>[5] my CV, then it should be added.</p> <p>[6] Q: I'm getting your CV, Doctor, so just one second. By</p> <p>[7] the way, Doctor, have we now covered all the opinions that you</p> <p>[8] expect to give at the trial of this cause and the bases for</p> <p>[9] them?</p> <p>[10] A: As far as I can tell, yes.</p> <p>[11] Q: Okay. I'm looking at your CV, Doctor. Let's just</p> <p>[12] take a five-minute break. We'll resume. I'll call you back in</p> <p>[13] five minutes.</p> <p>[14] (A recess was taken.)</p> <p>[15] Q: Doctor, have we now covered all the articles that</p> <p>[16] you relied upon in the formulation of your opinion,</p> <p>[17] specifically relied upon in the formulation and bases of your</p> <p>[18] opinions that you're going to give in this case? I think yes.</p> <p>[19] A: I believe so, with reference to the -</p> <p>[20] Q: We're going to be here a lot longer. I don't care.</p> <p>[21] Just let me know.</p> <p>[22] A: With reference to the questions that you have asked</p> <p>[23] me, I think the answer is yes.</p> <p>[24] Q: Well, for purposes of the testimony you expect to</p> <p>[25] give, have we done that?</p>

Page 406

Page 408

[1] A: Yes.
[2] Q: Okay. And as I understand it, you do not keep time
[3] sheets, so you have given us all the records you have on that;
[4] right?
[5] A: That's correct.
[6] Q: All the notes you have, you have turned over to us;
[7] correct?
[8] A: That's correct.
[9] Q: You have seen your answers to interrogatories, and
[10] the subsequent letter. Exhibit 3, which gave the bases for your
[11] opinion and we've covered those: is that correct?
[12] A: Let me just pull that exhibit out for a moment.
[13] What was the Exhibit 3 you referred to?
[14] Q: A letter which was written January 8, 1996.
[15] A: Right.
[16] Q: We covered that: right?
[17] A: Yes.
[18] Q: Looking at the answers to interrogatories, Doctor,
[19] would you turn to the section dealing with you, where it says
[20] "Dr. Radetsky"? When you get there, let me know.
[21] A: Well, I may not have that answers to interrogatories
[22] with me here. It's probably in the car.
[23] Q: I'll read it.
[24] A: That's all right.
[25] Q: Number 1, "Mark Turner first had clinically apparent

[1] Q: Right.
[2] A: Disease is both the infection and the response.
[3] Q: Okay. Thank you. In number 4, Doctor, it says,
[4] "Oral antibiotic therapy, which is used for the treatment of
[5] otitis media, has not been shown to prevent meningitis." Is
[6] that 100 percent correct?
[7] A: Based on the most cogent study that was performed
[8] and which included almost 1,000 children, that was their
[9] conclusion.
[10] Q: It wasn't the conclusion that it didn't and wasn't
[11] effective in most all of the cases. Doctor?
[12] A: I'm sorry, I thought that's what I said.
[13] Q: Well, no. All is not - the greater percentage, but
[14] not all.
[15] A: Based on their analysis, there was no difference in
[16] the antibiotic and nonantibiotic group.
[17] Q: Now, Doctor, that isn't true of the parenteral W
[18] administration of ampicillin, is it?
[19] A: Well, intramuscular or intravenous ampicillin is not
[20] used in the treatment of otitis media.
[21] Q: What in 1971 was used. Doctor, for the treatment of
[22] otitis media? I thought you said there was two choices,
[23] penicillin and sulfa, or ampicillin.
[24] A: That's correct. But both of them were given orally,
[25] neither was given by injection.

Page 407

Page 409

[1] meningitis on January 7. "That is what you're referring to as
[2] clinically overt; right?
[3] A: Yes.
[4] Q: So clinically apparent, clinically overt mean one
[5] and the same here, right?
[6] A: Yes.
[7] Q: In number 3, which I will read, I take it this is
[8] because of the inflammation - the question is, it's because of
[9] the inflammatory process. It reads as follows. "Mark Turner's
[10] brain damage was due to vascular injury caused by the
[11] meningitis of which a stroke on January 25, 1971, was an
[12] example. This vascular injury was due to the vulnerable
[13] vascular architecture of the child and the inflammation which
[14] is associated with meningitis."
[15] This vascular injury was unrelated to the antibiotic
[16] treatment of the disease which was successful; correct?
[17] A: Correct.
[18] Q: And so what that means, in light of what you have
[19] said here earlier today and the other part of your deposition,
[20] that there is an inflammatory response which, in the areas
[21] involved, would be an explanation as to what, in fact, gave
[22] rise to the central nervous system deficit, rather than the
[23] disease directly itself? It's the response to the disease?
[24] A: That's correct. It's the response to the
[25] infection.

[1] Q: Are you stating that in 1971 it would not be within
[2] the appropriate standard of care to give any of those
[3] antibiotics parenterally via IV?
[4] A: Not for conventional otitis media, no.
[5] Q: What do you mean by conventional otitis media?
[6] A: Common otitis media in a normal child. If a child
[7] was an immunodeficient host, an unusual organism, it was
[8] complex disease involving the mastoid bone or associated
[9] complications, then it's a different answer.
[10] Q: If it was found to be Hib organism that was causing
[11] the otitis media, it would be IV, would it not?
[12] A: This is a hypothetical?
[13] Q: Yes.
[14] A: You know, I have never run up against that
[15] situation, so I don't know the answer to the question.
[16] Q: Is there a stronger result or effect using
[17] ampicillin parenterally via the IV route than there is orally,
[18] Doctor?
[19] A: Not in otitis media, no, sir.
[20] Q: Not in otitis media; is that correct?
[21] A: That's correct.
[22] Q: So are you saying that ampicillin is then not
[23] effective against otitis media?
[24] A: No, I'm saying that the intramuscular or intravenous
[25] route offers nothing by way of cure of otitis media that is not

Page 410

[1] already present with the oral drug.
[2] Q: All right. Did Mark have otitis media prior to
[3] being admitted to the Contagious Disease Hospital?
[4] A: I don't have any information to suggest that he had
[5] otitis media prior to 12/27/70.
[6] Q: Did anyone diagnose that he had otitis media before
[7] he was admitted to Municipal Contagious Disease Hospital?
[8] A: I don't have any information to say so, no, sir.
[9] Q: If it was diagnosed in any other hospital, would you
[10] make that into consideration in your opinions?
[11] A: It depends what opinion I was being asked.
[12] Q: If he had it diagnosed at an earlier time, Doctor,
[13] would it make him more or less likely for a recurrence?
[14] A: There is information to suggest that children who
[15] have multiple episodes of otitis media under six months of age,
[16] are anatomically predisposed towards getting otitis media and
[17] would be at risk for further episodes.
[18] Q: Was that known by you in 1970, 1971, 1969?
[19] A: Well, it wasn't known by me, because I was not in
[20] medicine in 1970.
[21] Q: In the medical community.
[22] A: I don't know the answer to that. Oh, by the way,
[23] sir --
[24] Q: Yes, sir.
[25] A: -- in the admission history and physical examination

Page 411

[1] on 12/27/70, I just noted that Dr. Dellatorre did, in fact,
[2] record that the child had had three DPTs and two oral polios.
[3] Q: Of what significance does that have in the
[4] formulation -- formation, rather, of this child getting
[5] pertussis?
[6] A: The protective efficacy after three DPT
[7] immunizations is probably 85 percent, and therefore, it
[8] decreases the chance, without eliminating it, of getting
[9] pertussis.
[10] Q: So certainly on a probability basis he would not
[11] have had it?
[12] A: No. It decreases the chances of getting it. The
[13] fact that he comes in with pertussis syndrome without another
[14] good explanation still suggests that he had pertussis.
[15] Q: Is that pertussis syndrome that he had -- that it
[16] suggests pertussis syndrome?
[17] A: Well, now. I think we talked about that before, that
[18] there was no confirmatory culture or culture of another
[19] causative agent in the studies of pertussis syndrome. I
[20] believe 80 percent or greater were caused by Bordetella
[21] pertussis based on that study alone. More likely than not this
[22] child did have pertussis, based on that study alone this
[23] child --
[24] Q: Why?
[25] A: Because of the fact that the child had pertussis

Page 412

[1] syndrome and the leading cause of pertussis syndrome is, in
[2] fact, Bordetella pertussis
[3] Q: Do you know why they never did the tests in this
[4] patient to confirm it?
[5] A: No, I don't know why
[6] Q: Was it attempted to be done?
[7] A: Not to my knowledge. Excuse me. There was a
[8] doctor's order that said, "Work up for pertussis," but I don't
[9] have any results of that workup.
[10] Q: Doctor, in the Exhibit 3, part number 4, as a basis
[11] for your opinion, you state, "Otitis media is not a risk factor
[12] for bacterial meningitis."
[13] Isn't it correct, Doctor, that there is reported in
[14] the literature that otitis media has been and is reported to be
[15] associated with HIB of the typeable kind and there's a
[16] statistical association in that regard?
[17] A: I'm sorry, are you telling me, sir, that it is your
[18] contention that otitis media is a risk factor for Hemophilus
[19] influenzae type B meningitis?
[20] Q: The reverse.
[21] A: Oh. The reverse? What do you mean by "reverse"?
[22] Q: That Hemophilus influenzae type B meningitis is
[23] reported to occur from a patient having otitis media where the
[24] offending or the biological organism is the Hemophilus
[25] influenzae type B kind.

Page 413

[1] A: Yes, there have been case reports of that.
[2] Q: And you have already told me what your understanding
[3] of the percentage of those reports is: correct?
[4] A: No. What I said is two things. One is there have
[5] been case reports of children with HIB meningitis in whom a
[6] tympanocentesis was performed which showed HIB in the middle
[7] ear.
[8] Q: Do you know with what frequency that occurs, Doctor?
[9] A: No, because they're case reports.
[10] Q: Do you know if anyone has done a study on the case
[11] reports and from university settings as to the percentage of
[12] patients with otitis media that went on to get meningitis that
[13] it reflected or showed was the percentage that was from HIB of
[14] the typeable kind?
[15] A: I'm confused by that sentence, sir.
[16] Q: Doctor, meningitis can occur from otitis media; is
[17] that correct?
[18] MS. McDONALD: From otitis media?
[19] A: No, that's not true. That's not true.
[20] Q: With regard, Doctor, to otitis media, otitis media,
[21] when it's caused by HIB, has been reported to give rise to
[22] patients in whom that has occurred, ending up with bacterial
[23] meningitis of the HIB type; correct?
[24] A: The only studies I'm aware of, sir, are studies
[25] which showed that in children who had HIB meningitis and who

[16] Q: But the organism, in being present when that does
[17] occur, can give rise to the bacteremia section correct?
[18] A: Yes, but it's the presence of the organism on the
[19] mucosa, not its presence in the middle ear, that is the event
[20] which conspires with other factors to lead to the bacteremia.

[16] Q: Would **that** include the thenar area of the hand?
[17] A: No. It wouldn't include the thenar area, which **is** a
[18] **soft** tissue, but it would include the metacarpal phalangeal
[19] joint of the thumb.
[20] Q: Did this patient, Doctor, **while** in the Municipal

[25]

you'll rule out

Page 418

[1] A: Only by way of the descriptions of the doctors and
[2] nurses taking care of the child.
[3] Q: Doctor, as you sit here now, Qd you make any notes
[4] in your materials as to what opinions you read of Dr.
[5] Livingston that you agreed or disagreed with?
[6] A: No.
[7] Q: As you sit here now, do you have any memory of what
[8] opinions he gave and you agree or Qsagree with?
[9] A: Well, as I recall, he suggested that large numbers
[10] of mdividuals - or a certain percentage of individuals will
[11] carry Hemophilus. I don't believe that's the case. I believe
[12] that he suggested that the otitis media was the source of the
[13] mfection. I disagree with that. I do believe he suggested
[14] that had the Hemophilus - had the ear mfection been treated
[15] with antibiotics, the ear mfection that he perceived was there
[16] been treated with antibiotics soon after the admission, that
[17] the child would not have gone on to develop meningitis, and I
[18] don't agree with that. And I believe he had some notion of the
[19] meningitis beginning at some point prior to the 7th, and he had
[20] some, I think, very specific idea of when it began, and I don't
[21] agree with that.
[22] Q: Why, Doctor, don't you believe or agree with him
[23] when he says if the otitis media had been properly treated, the
[24] patient would not have gone on to get meningitis?
[25] A: Because the treatment of otitis media is done with

Page 419

[1] oral antibiotics, and the best large-scale study which has been
[2] published does not suggest that oral antibiotics can eliminate
[3] or decrease the risk of meningitis.
[4] Q: Have you read any study that indicates the giving of
[5] parenteral antibiotics can eliminate that?
[6] A: Parenteral antibiotics are not used for the
[7] treatment of otitis media. Therefore, there are no such
[8] studies.
[9] Q: I'm not asking you that. Have you read any articles
[10] that say parenteral antibiotics, if given, can prevent
[11] memgitis from occurring? Have you ever read that?
[12] A: In what context? In otitis media, you mean?
[13] Q: No. In the context of bacteremia or septicemia, or
[14] any number of - or of any number of circumstances where the
[15] doctor chooses to give it.
[16] A: Well, yes, there are articles which show that if a
[17] child has bacteremia and if the bacteremia is treated with
[18] antibiotics, prior to the development of bacterial meningitis,
[19] there is a decreased risk of developing meningitis.
[20] Q: So if a patient is given such antibiotics, it may
[21] prevent totally or a certain percentage of harm from the
[22] bacterial meningitis: correct?
[23] A: If a child has bacteremia and based on the fact the
[24] child had bacteremia is treated with parenteral antibiotics,
[25] and does not have meningitis, then the chances of developing

Page 420

[1] meningitis are reduced.
[2] Q: Doctor, if a patient has bacteremia, if you can kill
[3] off the orgmsms or make the body sterile of the organisms
[4] before the meninges are, in fact, infected, and prevent the
[5] meninges from being infected, will that prevent meningitis?
[6] A: If a child has bacterema and does not have
[7] meningitis and is treated for the bacteremia, you will prevent
[8] or decrease the risk of developing meningitis.
[9] Q: Can you prevent it totally by the giving of proper
[10] antibiotics by the right route and dosage? Have you read
[11] articles that suggest that?
[12] A: No, you cannot prevent it totally.
[13] Q: What is the percentage that you believe is prevented
[14] or can be reduced?
[15] A: It's a high percentage. I believe it's probably
[16] greater than 75 percent.
[17] Q: Would it be correct that it would be at least 92
[18] percent?
[19] A: It certainly - well, that order of magnitude, yes.
[20] Q: Have we covered the opinions that you disagree with,
[21] with regard to Dr. Livingston and the bases -
[22] A: I believe so, yes.
[23] Q: When you say you disagree with him that a certain
[24] number of patients carry HIB, what is the basis for your
[25] disagreement?

Page 421

[1] A: There have been a number of surveys of children and
[2] adults done in which throats were cultured for Hemophilus
[3] influenzae type B, and it showed that the normal carriage of
[4] that organism is less than 1 percent, except in circumstances
[5] in which there has been a known exposure, in which case the
[6] carriage rate is much higher.
[7] Q: Carriage rate being 1 percent nevertheless means 1
[8] percent can carry it, Doctor?
[9] A: I said less than 1 percent.
[10] Q: But it means that a certain percentage can carry it,
[11] does it not?
[12] A: No. What it means is that it is not part of the
[13] normal flora, but that you will occasionally find the organism
[14] in the throat from someone who has had an unwitting exposure to
[15] someone who has the organism. They do not carry it for a long
[16] period of time, meaning they'll lose it in weeks to months, and
[17] so it's a transient organism in the throat, not part of the
[18] normal flora.
[19] Q: Did you read what the basis was, why he said there's
[20] a certain percentage of patients that carry this in their
[21] natural flora?
[22] A: I don't remember, no, sir.
[23] Q: When you used the phrase a moment ago, "carriage,"
[24] do you mean as a carrier of?
[25] A: That's correct.

Page 422

Page 424

[1] Q: Do you recall any opinions of Dr. Charash that he
[2] gave?

[3] A: As I recall, Dr. Charash had some very certain ideas
[4] as to the moment of the development of meningitis and its
[5] preventability based on hours or days prior to when the
[6] diagnosis was made, and I disagree with that timetable.

[7] Q: That's because you believe no one can tell when
[8] meningitis occurs; correct?

[9] A: That's correct.

[10] Q: But if no one can tell when it occurs, no one can
[11] tell that it didn't occur at a given moment, either. Isn't
[12] that true?

[13] A: It cuts both ways. doesn't it, sir?

[14] Q: Is the answer yes?

[15] A: No one knows when meningitis begins.

[16] Q: So that the other part of that is, therefore, you
[17] can't say it wasn't there, or it was there. Both sides are
[18] correct; yes?

[19] A: Yeah. All you can know is when meningitis is
[20] clinically evident.

[21] Q: Do you recall any of the opinions given by Dr.
[22] Tomasi?

[23] A: I did not read his deposition.

[24] Q: And as I recall, Doctor, from my notes here, you did
[25] not do an Index Medicus or a Medline search on any of the

Page 423

[1] issues in this case specifically; right?

[2] A: That's correct.

[3] Q: Hello?

[4] A: I said that's correct.

[5] Q: I'm sorry, Doctor. I didn't hear an answer. I
[6] didn't hear any response. You may have nodded your head. but
[7] neither Ms. McDonald nor myself heard the answer. So bear with
[8] us.

[9] Other than, Doctor, my requests previously having
[10] been made for the white paper - which I'm asking you again to
[11] get - and the fact that there were two articles which I
[12] requested and thought I would have, and don't -

[13] MS. McDONALD: Just one.

[14] Q: One additional article which I thought I would
[15] have. No, two. The Killio articles.

[16] MS. McDONALD You have one.

[17] Q: Other than the Killio article, which I don't have,
[18] and the white paper that I asked for earlier, other than that,
[19] Doctor, at this time I ask you, we have covered all your
[20] opinions and bases that you believe and expect to give at the
[21] trial of this cause; correct?

[22] A: Yes, sir.

[23] Q: And with that and based on that representation,
[24] other than my reserving of the right to having those articles
[25] and deciding whether I want to have follow-up on them, at this

[1] point in time I have no further questions. Thank you, Doctor.

[2] MS. McDONALD: I have a few questions for
[3] clarification.

[4] (A discussion was held off the record.)

[5] EXAMINATION

[6] BY MS. McDONALD:

[7] Q: Okay, Doctor. In the first part of your deposition,
[8] you talked about the fact that it would be helpful in your job
[9] as an expert witness to have a richer description of the
[10] condition of the child at certain points. Do you recall that
[11] testimony?

[12] A: Yes.

[13] Q: In giving that testimony, did you intend in any way
[14] to criticize the way in which the chart was kept in this case?

[15] A: No.

[16] Q: What did you intend by that remark?

[17] A: I thought the charting in this case was very
[18] reflective of charting that was common and acceptable in its
[19] time, and what I was referring to was the position that I am
[20] put in, which is one in which I try to recreate the events of a
[21] situation which occurred 25 years ago, and the more information
[22] that is provided to me, the easier it is for me to do that.

[23] MR. GOLDBERG: Show an objection. Move to
[24] strike.

[25] Q: Now, I want to look at one page of the records that

Page 425

[1] Mr. Goldberg had you look at earlier. And that was the nurse's
[2] note that we had some trouble finding. Remember January 7?

[3] A: Yes, I have it.

[4] Q: Okay Now, that reference there, "very irritable
[5] when disturbed." Can you tell there whether that notation was
[6] made after the diagnosis of meningitis?

[7] A: It was made on the 7th. The time, the beginning of
[8] that line is sandwiched in between two times, one approximately
[9] 8:00 in the morning, and one at 10:30 in the morning. It could
[10] have been made contemporaneously with the diagnosis of
[11] meningitis actually being made, but there's no specific time
[12] written on the first line in which that notation appears.

[13] Q: Is that 8:00 and 10:30 - are you reading that as
[14] a.m. or p.m.?

[15] A: I assume that's a.m.

[16] Q: Do you see the remark above that, "TV running well"?

[17] A: Yes, I do see "TV running well." That suggests
[18] that - thank you for pointing that out - that all of those
[19] times, 4:00, 8:00, and 10:30, were all p.m., because the child
[20] did not have an IV before the diagnosis was made.

[21] Q: Okay. So was there any reference to the word
[22] "irritable" - I mean, did the word "irritable" appear in the
[23] chart anywhere that you saw by anyone prior to the diagnosis of
[24] meningitis?

[25] A: No.

Page 426

[1] Q: Now, there was a discussion earlier in the
[2] deposition about diarrhea the child had. And you were looking
[3] at the nurses' notes of January 4. Do you recall that?
[4] A: Yes.
[5] Q: And you indicated that that was the only one you
[6] could find which noted or commented on the quality of the
[7] child's stool. And I just wanted to refer you - was there
[8] another note regarding his stool on January 2?
[9] A: Let me flip to that. On the 2nd, there is a
[10] notation that says, "Large soft yellow stool."
[11] Q: Okay. So there was another notation about the
[12] quality of his stool?
[13] A: Yes, and the notation that I referred to seemed to
[14] be a shift from that large soft yellow stool to a loose stool.
[15] Q: Just one last thing. Mr. Gotdberg asked you about
[16] the diagnosis on discharge, and I would just ask you to look in
[17] the record and see what the diagnosis was on discharge.
[18] A: Are you referring to, "Final diagnosis: Pertussis
[19] with meningitis"?
[20] Q: Is that what you would consider the diagnosis on
[21] discharge?
[22] A: That's contained in the doctor's summary, pertussis
[23] with meningitis.
[24] Q: Okay. So there's no mention in the discharge
[25] diagnosis of otitis media; is that correct?

Page 427

[1] A: That's correct.
[2] MS. McDONALD: That's all I have. Thank you.
[3] FURTHER EXAMINATION
[4] BY MR. GOLDBERG:
[5] Q: Doctor, you were asked to look at that note where it
[6] says irritable. You recall that?
[7] A: Yes.
[8] Q: Would you find it?
[9] A: Yes.
[10] Q: What's the page number, Doctor?
[11] A: That's that page 45. I think, was the page number.
[12] Q: Now, Doctor, what is the time to the left of that?
[13] A: As I said, there are three times, 4:00, 8:00 and
[14] 10:30.
[15] Q: And did you assume that to be 4:00 a.m., 4:00 p.m.,
[16] which -
[17] A: Well, I had initially assumed it to be a.m., but
[18] having looked at the prior entries that my attention was being
[19] drawn to, in which you have an intravenous line running, I
[20] think that the better interpretation is that those are p.m.
[21] times, particularly because you go directly into 1/8/71 without
[22] any more p.m. entries. Therefore, it would suggest that those
[23] are all afternoon and evening entries.
[24] Q: Where are the nurses' notes from the 6th, Doctor?
[25] A: There are nursing notes - I'm looking at page L90.

Page 428

[1] Q: Yes.
[2] A: And I have on that page both nursing notes from the
[3] 6th, and nursing notes from the morning of the 7th.
[4] Q: Right. Now, Doctor, let's look at the times
[5] together. On the 6th, are the only notes that you see in the
[6] nurses' notes from 11:00 to 7:00?
[7] A: I have a note from 11:00 to 7:00 and a note 3:00 to
[8] 11:00.
[9] Q: Does that cover 24 hours, Doctor?
[10] A: No. There's a 7:00 to 3:00 gap.
[11] Q: Right. Look on the 6th, Doctor. Let's start with
[12] the 6th. Tell me the nursing notes and times that you see any
[13] nurse wrote a note.
[14] A: As I said, I have a note from the 6th, 11:00 to
[15] 7:00, it's not specified any more accurately than that, and
[16] then I have notes written on a notation of 3:00 to 11:00, not
[17] specified any more accurately than that.
[18] P: You assume the note to the right, then, with 1/6
[19] below it refers to the 6th?
[20] A: Yes.
[21] Q: Then you see a note, 1/7; correct?
[22] A: Yes.
[23] Q: And then you go to the time, it says a.m., 11:00 to
[24] 7:00; correct?
[25] A: Well, I have a note from the 7th that is marked

Page 429

[1] 11:00 to 7:00.
[2] Q: And if you look straight up, that refers to the a.m.
[3] column; right?
[4] A: Yes.
[5] Q: Now, what other time during the date of the 7th did
[6] any nurses write notes?
[7] A: There's a note from 9:00 a.m., a note from 11:30
[8] a.m., a note from 2:00 p.m., and then we switch to page 45, all
[9] pointed out, in which there are some more p.m. notes.
[10] Q: Well, let's go back, Doctor, to the 7th. Isn't the
[11] first note there 11:00 to 7:00 a.m.?
[12] A: It's 11:00 at night on the 6th to 7:00 in the
[13] morning on the 7th.
[14] Q: And Doctor, right below that, on 1/7, it says "11:00
[15] to 7:00," doesn't it?
[16] A: I'm sorry, there's a note on the 6th that says
[17] "11:00 to 7:00." That would be -
[18] Q: On the 7th it says "11:00 to 7:00."
[19] A: Well, there are two notes, one on top of the other.
[20] There's one from 11:00 to 7:00 on the 6th, and one from 11:00
[21] to 7:00 on the 7th.
[22] Q: Now, Doctor, where are the nurse's notes from 7:00
[23] a.m. in the morning to 11:00?
[24] A: On which day, sir?
[25] Q: On the 7th.

Page 430

Page 432

[1] A: They are right below the note that is entitled 11:00
[2] to 7:00 on the 7th.
[3] Q: Now, Doctor, you're assuming that the notes went out
[4] of order, aren't you?
[5] A: No.
[6] Q: No? Let's go through it again, Doctor. 11:00 to
[7] 7:00 would be the 11:00 p.m. shift on the 6th until 7:00 a.m.
[8] in the morning of the 7th; correct?
[9] A: Correct.
[10] Q: Now we're at 7:00 a.m. on the 7th. Where is the
[11] note? The next note is 11:00 to 7:00 on the 7th, below it.
[12] MS. McDONALD: I object.
[13] A: No, no, Sir, I think you're interpreting it wrong.
[14] The note that says 1/7/71, 11:00 to 7:00 -
[15] Q: Yes.
[16] A: - that is 11:00 on the 6th to 7:00 in the morning
[17] on the 7th.
[18] Q: That's your assumption; correct?
[19] A: No, that's the conventional way it's done.
[20] Q: You think so?
[21] A: You got it.
[22] Q: Okay. Now, Doctor, where is the number of shifts or
[23] nurses that examined this child evident from 11:00 to 7:00 on
[24] the 5th, the 6th, or the 7th?
[25] A: I don't understand your question.

[1] the morning until midnight of the 6th - or rather, 11:00 of
[2] the 5th?
[3] A: No, I do not.
[4] Q: And now we have 11:00 to 7:00 which is 11:00 of the
[5] 5th to 7:00 of the 6th, right?
[6] A: That's correct.
[7] Q: Are there any other notes the rest of the day of the
[8] 6th?
[9] A: Yes. There are notes between 3:00 in the afternoon
[10] and 11:00 at night on the 6th.
[11] Q: So where are the notes from the second shift from
[12] the 7:00 until 3:00?
[13] A: There are no notes.
[14] Q: All right. Now we've got 3:00 to 11:00, which is
[15] the 7th, right?
[16] A: We have 3:00 to 11:00 on the 6th.
[17] Q: And then we have something that would indicate that
[18] would be 9:00 a.m.; is that right?
[19] A: No, then you have a note from 11:00 at night on the
[20] 6th to 7:00 in the morning on the 7th.
[21] Q: Then you have a note that says 9:00; correct?
[22] A: That's correct.
[23] Q: Did you assume that to be 9:00 a.m.?
[24] A: That's correct.
[25] Q: And then 11:30 a.m.

Page 431

Page 433

[1] Q: Tell me when nurses examined this child other than
[2] between 11:00 to 7:00 on the 5th.
[3] A: There are no - I don't know about when nurses
[4] examined the child. All I know is when nurses wrote the
[5] notes.
[6] Q: All right. So let's start in with the 4th, Doctor.
[7] On the 4th, do you see it as 11:00 to 7:00?
[8] A: Yes.
[9] Q: And then below it, it says 7:00 to 3:00 doesn't it?
[10] A: Yes, it does.
[11] Q: Now, taking that logic, Doctor, you see it would be
[12] 11:00 to 7:00, which would be the 11:00 p.m. of the 3rd until
[13] 7:00 a.m. of the 4th; correct?
[14] A: The one that says "1/4/71, 11:00 to 7:00," that is
[15] correct.
[16] Q: And then the next note is 1/4, would be 7:00 to
[17] 3:00, would be the shift that follows it; right?
[18] A: Correct.
[19] Q: Now, where is from 3:00 to 11:00 on the 4th?
[20] A: There are no notes written between those times.
[21] Q: Where are the notes - the next one would be 11:00
[22] to 7:00 on the 5th; right?
[23] A: The next note is 11:00 on the night of the 4th to
[24] 7:00 on the morning of the 5th.
[25] Q: You see any other nurse's notes from 7:00 a.m. in

[1] A: That's right.
[2] Q: And then 2:00?
[3] A: 2:00 in the afternoon, yes.
[4] Q: Now, Doctor, do you note that on the note 11:00 to
[5] 7:00 next to the date 1/7 - you see that word?
[6] A: "Had a fair night."
[7] Q: "Fair night"; correct?
[8] A: That's correct.
[9] Q: "Fretful at times"?
[10] A: That's correct.
[11] Q: And then, Doctor, going from there, 2:00, you turn
[12] to the next page and you have 4:00, right?
[13] A: Let me turn to that page 45. And it begins on
[14] 1/7/71 and the first notation is 4:00 in the afternoon.
[15] Q: And then you have 8:00 p.m.?
[16] A: That's correct.
[17] Q: And under the 8:00 p.m. note it says, "Very
[18] irritable when disturbed?"
[19] A: Well, between the 8:00 note and the 10:30 note,
[20] yes.
[21] Q: All right. And then on the remarks side, Doctor,
[22] dropping down, you see it says "Fretful at times"?
[23] A: That's correct.
[24] Q: Now, Doctor, with regard to irritable, are you
[25] saying that the first time this child became irritable is after

Page 434

[1] the IV was placed?
[2] A: The first time the word "irritable" was used by the
[3] nurses was on the evening of the 7th.
[4] Q: That's not my question, though. Is that when - in
[5] your opinion, the first time this child became irritable was
[6] actually when it became irritable, was when that note was
[7] written?
[8] A: I wasn't there, sir, so I can't answer your
[9] question, other than when the word is used.
[10] Q: And Doctor, with reference to a more rich
[11] description, you are not presently giving any opinions one way
[12] or the other, as I understand it, on standard of care; is that
[13] correct?
[14] A: To my knowledge, I was retained in order to give an
[15] opinion regarding the issue of causation.
[16] Q: Now, Doctor, with regard to the diagnosis on
[17] discharge, do you agree that generally, the diagnosis at time
[18] of discharge should include the diagnoses at the time of
[19] admission?
[20] A: The diagnosis at the time of discharge includes the
[21] final diagnosis made and authenticated during the
[22] hospitalization, so that oftentimes you will have a working
[23] diagnosis on admission, and a final diagnosis on discharge.
[24] And they may differ.
[25] Q: Doctor, if a patient comes in with one disease

Page 435

[1] ongoing, that for whatever reason is no longer present during
[2] the stay, and the patient is discharged, isn't it correct
[3] operating procedure which is normally to be followed would
[4] include in the discharge **diagnosis** all the diagnoses which were
[5] confirmed as being present while the patient was at the
[6] hospital?
[7] A: In general, that's true.
[8] Q: Thank you. With regard, Doctor, to the diagnoses on
[9] discharge, do you **know** why **this** patient left the hospital?
[10] A: My understanding is that the parents requested a
[11] transfer.
[12] Q: Do you know why the parents requested a transfer?
[13] A: Not specifically, no.
[14] Q: You don't recall reading that in the deposition; is
[15] that correct?
[16] A: Well, they were dissatisfied with the care that they
[17] were receiving at the one hospital.
[18] Q: Do you remember **specifically** what they were
[19] dissatisfied about and with?
[20] A: Not specifically, no.
[21] Q: And Doctor, this case involves a patient who did not
[22] have - let me restate this. This patient did not have
[23] meningitis when he entered the hospital on the 27th, did he?
[24] A: Not in my opinion, no.
[25] Q: So that the **meningitis** this patient acquired or

Page 436

[1] contacted was while the patient was in the hospital under their
[2] care; correct?
[3] A: That's correct.
[4] MR. GOLDBERG: I have no further questions,
[5] subject to my reservations.
[6] MS. McDONALD: I'm afraid I have to clarify
[7] something else about the records.
[8] A: Could you move closer to the microphone, ma'am?
[9] MR. GOLDBERG: Yes, she's coming
[10] FURTHER EXAMINATION
[11] BY MS. McDONALD:
[12] Q: If you could look again, Doctor, at the nurse's
[13] notes, the page which has the 3rd and the 4th and the 5th and
[14] the 6th and some of notes of the 7th on them.
[15] A: Just a moment, please. That's L90; is that
[16] correct?
[17] Q: Yes.
[18] A: All right, I have it.
[19] Q: Now, Mr. Goldberg was taking you through the date,
[20] and he pointed out the note for January 4, 11:00 to 7:00, the
[21] note for January 4, 7:00 to 3:00. Now, if you look at the
[22] right-hand side of the page, at the top, you see there a note
[23] for January 4?
[24] A: Yes, I do.
[25] Q: Now, do you know when that note was written?

Page 437

[1] A: I presume it was written on January 4, but I don't
[2] know the time.
[3] Q: Now, on that side of the page there is a p.m., isn't
[4] there?
[5] A: That's correct.
[6] Q: Would it be reasonable to assume that that was
[7] written in the p.m. on January 4?
[8] MR. GOLDBERG: Objection, what would be
[9] reasonable to assume.
[10] Q: Go ahead.
[11] A: It was written in the column p.m., yes.
[12] Q: Okay. And actually! that indicates, doesn't it,
[13] three loose green stools; correct?
[14] A: Yes, it does.
[15] Q: That would be another note referring to the stools?
[16] A: Yes, that's correct.
[17] Q: Now, Mr. Goldberg was pointing out to you on January
[18] 5 there was a note 11:00 to 7:00. Looking in the right-hand
[19] side of the page again, isn't there another note for January 5?
[20] A: Yes, there is.
[21] Q: Okay. And that's again in the p.m. column?
[22] A: Yes, it is, and there is included in it a time of
[23] 8:20, when stool was sent to the laboratory.
[24] Q: That would indicate it was at the p.m. note.
[25] A: And in fact, it says 8:20 p.m.

Page 438

Page 439

[1] Q: So that would be on the 3:00 to 11:00 shift?
[2] A: Yes, it would.
[3] Q: Okay. Thank you. That's all.
[4] MR. GOLDBERG: No further questions, subject to
[5] the reservation of my rights that I have described.
[6] MS. McDONALD: And we'll reserve signature.
[7] (Exhibits 10 through 15 marked for
[8] identification.)
[9] (The deposition concluded at 5:00 p.m.)
[10]
[11]
[12]
[13]
[14]
[15]
[16]
[17]
[18]
[19]
[20]
[21]
[22]
[23]
[24]
[25]

IN THE CIRCUIT COURT OF COOK COUNTY ILLINOIS
COUNTY DEPARTMENT, LAW DIVISION

NO: 91 L 21091
MARK TURNER, a disabled person by his
co-guardians. DIANE TURNER and WILL TURNER,

Plaintis,

v.

CITY OF CHICAGO, a municipal corporation, d/b/a MUNICIPAL
CONTAGIOUS DISEASE HOSPITAL, et al.,
Defendants.

CERTIFICATE OF COMPLETION OF DEPOSITION
I, MARY ABERNATHY SEAL, New Mexico CCR #69, DO HEREBY
CERTIFY that on February 8, 1996, the deposition of MICHAELS.
RADETSKY, M.D., was taken before me at the request of, and
sealed original thereof retained by:

Mr. Barry Goidberg
Attorney for Plaintiffs
33 North Dearborn Street, Suite 1930
Chicago, Illinois 60602-3197

I FURTHER CERTIFY that copies of this certificate have been
mailed or delivered to the following counsel and parties not
represented by counsel appearing at the taking of the
deposition.

Ms. Barbara A. McDonald
Attorney for Defendants
30 North LaSalle Street, Room 800
Chicago, Illinois 60602

I FURTHER CERTIFY that examination of this transcript and
signature of the witness was required by the witness and all
parties present.

I FURTHER CERTIFY that the cost of the original and one
copy of the deposition to the PLAINTIFFS is \$.

Page 440

Page 441

[1] I FURTHER CERTIFY that I did administer the oath to the
witness herein prior to the taking of this deposition that I
[2] did thereafter recode in stenographic shorthand the questions
and answers set forth herein and the foregoing is a true and
[3] correct transcript of the proceeding had upon the taking of
this deposition to the best of my ability
[4]
I FURTHER CERTIFY that I am neither employed by nor related
[5] to any of the parties or attorneys in this case and that I
have no interest whatsoever in the final disposition of this
[6] case in any court

[7]
Mary Abernathy Seal
[8] Certified Court Reporter #69
License expires 12-31-96
[9]

(5229-3) MAS

[1] TURNER v. CITY OF CHICAGO et al
[2] If there are any typographical errors to your deposition
indicate them below
[3] PAGE LINE
[4] _____ Change to _____
[5] _____ Change to _____
[6] _____ Change to _____
[7] _____ Change to _____

[8] Any other changes to your deposition are to be listed
below with a statement as to the reason for such change

[9]
PAGE LINE CORRECTION REASON FOR CHANGE

[10]
[11]
[12]
[13]
[14]
[15]
[16]
[17]
[18] I, MICHAELS RADETSKY, M.D., do hereby certify that I have
read the foregoing pages of my testimony as transcribed, and
[19] that the same is a true and correct transcript of the testimony
given by me in this deposition, except for the changes made.
[20]
[21]
MICHAELS RADETSKY, M.D.
[22]
(5229-3) February 8, 1996 MAS
[23]
[24]
[25]