

IN THE UNITED STATES DISTRICT COURT  
FOR THE NORTHERN DISTRICT OF OHIO  
CLEVELAND

Ragaie Kolta, M.D.,

Plaintiff,

vs.

Paul Revere Insurance Co.,

Defendant.

Case No 93CV1749

DOC. 375

Deposition of Martin Resnick, M.D., a witness

herein, called on behalf of the defendant for oral  
examination pursuant to the Federal Rules of Civil  
Procedure, taken before Barbara J. Strahler, Court  
Reporter and Notary Public in and for the State of Ohio,  
at University Hospitals of Cleveland, 2074 Abington Road,  
Cleveland, Ohio, 44106, on Tuesday, July 11, 1995,  
commencing at 9:12 a.m.

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Benjamin F. Barrett, Sr., Esq.  
4 Miraldi & Barrett Co., L.P.A.  
5 6061 South Broadway  
6 Lorain, Ohio 44053

7 On behalf of the Defendants:

8 Geraldine M. Johnson, Esq.  
9 Wood & Lamping  
10 2500 Cincinnati Commerce Center  
11 600 Vine Street  
12 Cincinnati, Ohio 45202-2409

13 - - -

I N D E X

|   |                      |       |
|---|----------------------|-------|
| 2 | Witness:             | Cross |
| 3 | Martin Resnick, M.D. |       |
| 4 | by Ms. Johnson       | 4     |
| 5 | by Ms. Johnson       | 50    |
| 6 | by Mr. Barrett       | 48    |

- - -

E X H I B I T S

|    |              |        |
|----|--------------|--------|
| 10 | Defendant's: | Marked |
| 11 | A            | 6      |
| 12 | B & C        | 14     |
| 13 | D            | 35     |

- - -

O B J E C T I O N S

|    |             |           |
|----|-------------|-----------|
| 16 | ATTORNEY    | PAGE-LINE |
| 17 | Mr. Barrett | 15 - 18   |
| 18 | Mr. Barrett | 35 - 10   |
| 19 | Mr. Barrett | 37 - 14   |

- - -

1 MARTIN RESNICK, M.D.  
2 of lawful age, being first duly sworn, as hereinafter  
3 certified, was examined and testified as follows:

4 CROSS-EXAMINATION

5 By Ms. Johnson:

6 Q Dr. Resnick, I just met you a few minutes ago My ,  
7 name is Gerry Johnson, and I represent Paul Revere  
8 Life Insurance Company.

9 Doctor, has your deposition been taken before?

10 A Noe for this.

11 Q Not in this particular case, but you've been deposed.  
12 before?

13 A Yes.

14 Q And you're aware of the ground rules. If a question  
15 requires some clarification, you will let me know  
16 that?

17 A Yes.

18 Q And you understand, because a court reporter is  
19 taking down your responses, we need a verbal  
20 response?

21 A I understand.

22 Q Doctor, you have treated Dr. Kolta. Is that  
23 correct?

24 A That's correct.

25 Q And according to your notes, the first time you saw

1 Dr Kolta was in 1990 Is that correct?

2 A That is approximately correct, yes

3 Q Was Dr. Kolta referred to you?

4 A To the -- see, I don't have my notes from 1990 here,  
5 but to the best of my recollection, he was diagnosed  
6 with having cancer of the prostate and came to see  
7 me for a second opinion regarding treatment, and  
8 subsequently went to Johns Hopkins to have his  
9 radical proscatectomy. And that was around 1990.

10 Q Do you know *how* Dr Kolta came to seek a second  
11 opinion from you specifically?

12 A Just -- I assumed, just by reputation. I have a  
13 fairly good reputation in the Cleveland area, and I  
14 see many patients about, second opinions.

15 Q And it's my understanding you saw Dr. Kolta that one  
16 time in 1990?

17 A That is correcc.

18 Q And you indicated that Dr. Kolta elected to have a  
19 surgery done at Johns Hopkins?

20 A That is correct.

21 Q Do you know why Dr. Kolta opted to go to Baltimore  
22 for the surgery?

23 A He was very concerned with impotency at the time,  
24 and at that point, the Hopkins group had the best  
25 reported results in maintaining potency.

1 Q Do you know Dr. Marshall?

2 A 'Yes

3 Q Do you know *him* other than in a professional way?

4 A Well, we socialize, but most of it relates to  
5 urological meetings and that kind of thing. So I  
6 don't know how you draw the distinction.

7 Q Do you share other patients with Dr. Marshall?

8 A There have **been** a couple other patients ehae have  
9 been treated at Johns Hopkins who I follow, but ■  
10 can't specifically remember if they were treated by  
11 Dr. Marshall or others at that institution.

12 Q Doctor, I noticed that you have a file with you  
13 today. May I review that?

A Sure.

15 Q Thank you, Doctor.

16 (Defendant's Exhibit A  
17 marked for  
identification.)

18 Q Doctor, I'm handing you what's been marked as  
19 Defendant's Exhibit A and I'll ask whether you  
20 agree with me that that exhibit is a copy of your  
21 office notes from September 29th, 1992 through  
22 October 12th, 1993, along with a letter from you to  
23 Dr. Kolta as well, dated November 5, 1993?

24 A Yes. That is correct.

25 Q And I just quickly reviewed your -- the file that

1 you brought to today's deposition. And it appeared  
2 to me chae after October 12th, 1993 you saw Dr  
3 Kolta on January 9th, 1994; September 28th, 1994 and  
4 March 24th, 1995?  
5 A Also June 15th, 1994.  
6 Q So you have seen Dr. Kolta four times beyond  
7 Defendant's A?  
8 A That's correct.  
9 Q I would ask at the end of the deposition if I could  
10 get a copy of those four office notes?  
11 A Sure  
12 Q When did you plan to *see* Dr Kolta again'?  
13 A When I saw him on March 24th, my appointment notes  
14 say six months.  
15 Q So sometime in September, you should be seeing him  
16 again?  
17 A That's correct.  
18 Q Do you confer with Dr. Marshall periodically about  
19 Dr. Kolta?  
20 A No.  
21 Q Doctor, I noticed in your file that there are some  
22 handwritten notes on yellow sheets of paper. Is  
23 that --  
24 A That's correcc  
25 Q And those handwritten notes appear to follow the

1 typed version of your office notes. Is that  
2 correct?

3 A That is correct. My routine is that I usually  
4 scribble something down when I see the patient. And  
5 then after the patient leaves the office, I'll  
6 dictate a formal note.

7 Q So your handwritten notes are actually written out  
8 while the patient is still in your office?

9 A Yes.

10 Q And is the purpose of the writing hand -- or writing  
11 out notes while the patient is still in your office  
12 so that you will be able to record what you consider  
13 as significant or material to the person's  
14 condition?

15 A Right. It's to remind me what I want to say when I  
16 dictate a formal note.

17 a Doctor, if we can focus on **your** office note of  
18 September 29th 1992 you indicated; that Dr. Kolta  
19 was having problems with incontinence, stress  
20 incontinence?

21 A That's correct.

22 Q Is that note a reflection of what Dr. Kolta reported  
23 to you?

24 A Yes.

25 Q Does that note indicate any objective basis for Dr.



1           Kolta's reporting of stress incontinence?

2   A       Well, I just said inconcinenence. I didn't say stress

3           incontinence. However, the note is based on

4           patient's history.

5   Q       Is it your opinion that Dr. Kolta suffers from

6           stress incontinence?

7   A       Well, he suffers from incontinence.

8   Q       Your notes indicate that 'ne has stress-related

9           problems with regard to urine retenti-OR. Is char,

10          correct?

11                   MR. BARRETT:               Stress-related, stress

12          incontinence, is that what you're referencing?

13   Q       I'm asking you if your note reflects that you

14          indicate --

15   A       I'm looking for the word stress.

16   Q       Line five.

17                   MR. BARRETT:               Says stress-related

18          problems.

19   A       Right. I'm sorry. That's correct.

20                   MR. BARRETT:               For the record, that

21          says, has stress-related problems, particularly

22          toward the end of the day. Is that --

23   Q       My question, Doctor, is does your note indicate chat

24          Dr. Kolta has stress-related problems with regard. to

25          urine retention?

1 A I guess, just so we're sure in terms -- when I'm  
2 talking about stress-related problems, I'm talking  
3 to his incontinence and urinary -- I'm not talking  
4 about a psychological stress.

5 Q I understand..

6 A And I'm saying that he has stress-related  
7 incontinence.

8 Q Did you conduct any diagnostic testing to develop  
9 the level -- or to determine the level of  
10 Dr. Kolta's incontinence?

11 A No. I did not do that.

12 Q Would you agree that a patient's reporting of the  
13 number of pads that he uses on a daily basis would  
14 be one way of monitoring or determining that level?

15 A That's used, yes..

16 Q Now, your office note on September 29, it doesn't  
17 indicate the number of pads that Dr. Kolta was  
18 using, Is that correct?

19 A No, it does not.

20 Q Do you know how many pads Dr. Kolta was using in  
21 1992?

22 A Best of my recollection -- it's only my  
23 recollection -- is somewhere -- three, four pads a  
24 day, but that's not recorded, and I'm not sure of  
25 that.

- 1 Q And your notes don't indicate any objective  
2 quantification of urine leakage or the amount of  
3 urine leakage?
- 4 A No.
- 5 Q Do you have a recollection as to -- if we're looking  
6 at the spectrum of urine leakage, starting from  
7 perhaps a few drops all the way up to the bladder  
8 emptying completely, do you have a recollection as  
9 to where Dr. Kolta would have fallen?
- 10 A He was somewhere in the middle, because he was  
11 urinating, so he did not have complete incontinence,  
12 but somewhere in the middle, I don't know if I can  
13 be any more specific than that.
- 14 Q Do you have any recollection as to Dr. Kolta's  
15 frequency of need to urinate on a daily basis?
- 16 A As I remember, he has told me that he needs to  
17 urinate roughly every two hours.
- 18 Q Was that true in September of 1992?
- 19 A I don't know that. I don't know that.
- 20 Q Is it fair to say that your recollection is that  
21 Dr. Kolta has had to urinate approximately every two  
22 hours for the length of time that you've been  
23 treating him?
- 24 A I believe that's correct
- 25 Q There doesn't stand out in your mind a time when

1       that level would have dropped or would have  
2       increased'?

3   A     Not to my recollection

4   Q     Dr. Kolta told you in September 1992 that as long as  
5       he was sitting or sleeping, he did not have any  
6       incontinence problems::

7   A     That's what it states.

8   Q     And his problems seem to really kick up at the end  
9       of the day. Is that correct?

10  A     Or that and related to activity.

11  Q     Do your notes reflect that Dr. Kolta reported he had  
12       incontinent problems when he was active?

13  A     I don't know if that's in my notes. but it's  
14       certainly what I remember him telling me. And I  
15       think it went on my subsequent notes. There was  
16       some discussion about him -- I just saw it a minute  
17       ago, When he was walking, he had problems with  
18       incontinence, so I would think that's related to his  
19       activity.

20  Q     But in September of '92, you don't have any  
21       indication?

22  A     No. The only -- by inference, the fact that he says  
23       he does okay when he's sitting or sleeping would  
24       imply -- this is just the way I do my notes -- would  
25       imply that he has problems: when he's not doing those

1 activities.

2 Q And is it fair to say that those problems increased  
3 towards the end of the day as opposed to the  
4 beginning or middle part of the day?

5 A That's correct. And that's not uncommon in patients  
6 who have incontinence following a radical  
7 prostatectomy, that their symptoms or manifestations  
8 of incontinence tend to get worse as the day goes  
9 on.

10 Q And *by* the end of the day sometime after 5:00 or  
11 6:00 in the evening --

12 A I would probably put it a little earlier than that.  
13 Usually patients will -- usually by 2:00 or 3:00 in  
14 the afternoon will start to have problems.

15 Q So for incontinent patients, when you talk about the  
16 end of the day, you're really referring to sometime  
17 in mid afternoon?

18 A That's correct.

19 Q Doctor, you noted that Dr. Kolta was on partial  
20 disability in September 1992. Is that correct? I'm  
21 looking about the sixth line down.

22 A Yes.

23 Q For purposes of this deposition, I'm going to ask  
24 you to equate partial disability with residual  
25 disability.

1 A I don't know -- I mean, I can't tell you what that  
2 specifically means. This is probably something he  
3 told me, and I can't tell you anything more about  
4 it. And I can tell you right now I don't know the  
5 difference between partial disability and residual  
6 disability.

7 Q Would you agree that partial disability means  
8 something less than total disability?

9 A Yes.

10 Q I'm just going to ask you to accept, for purposes of  
11 this deposition, that partial disability, which is  
12 less than total, equates to residual disability  
13 under the Paul Revere policy.

14 A Can you explain what residual disability means?

15 Q Yes.

16 (Defendant's Exhibits  
17 B & C marked for  
identification.)

18 Q Doctor, I'm handing you what has been labeled as  
19 Defendant's Exhibits B and C, and I'm representing  
20 to you that Exhibit B is Page 7 from Paul Revere's  
21 policy that they issued to Dr. Kolta. And Exhibit C  
22 is Page 6 of that policy. I would ask you to look  
23 at the definition of total disability on Page 6 and  
24 residual disability on Page 7.

25 MR. BARRETT: Total disability is

1 right down here.

2 A And residual disability?

3 Q Yes. That's at the top of Page 7.

4 A Okay.

5 Q And, Doctor, in order to save time, I'm really  
6 focusing on a(1) of residual disability.

7 A Okay.

8 Q Would you agree with me that with regard to the  
9 policy's definition of total disability, which was  
10 at the bottom of Page 6, total disability means that  
11 an individual is unable to perform the important  
12 duties of his or her occupation?

13 MR. BARRETT: That's what it says.

14 A Right. That's what it says.

15 Q And with regard to residual disability, an  
16 individual is unable to perform one or more of the  
17 important duties of his occupation?

18 MR. BARRETT: I'm going to object to  
19 this, I'm not quite sure where you're going.  
20 The -- it started with Dr. Resnick putting in his  
21 note a comment from Dr. Kolta that he's on partial  
22 disability. There was no determination made at that  
23 time -- I don't think -- by Dr. Resnick. Unless  
24 you're challenging the determination that he has  
25 actually made at that time, I'll just object for the

1 record for now and let you proceed to see where we  
2 are. You may be asking the doctor some legal  
3 interpretations here.

4 Q Doctor, if we use the definition of total  
5 disability, indicating ehae someone is unable to  
6 perform any of the important duties of their  
7 occupation, would you agree that by your noting that  
8 Dr. Kolta was on partial disability, it would  
9 naturally follow tnat he was able to perform some of  
10 the duties of his occupation?

11 A I didn't make a determination whether -- I'm just  
12 saying what he told me. So I can't say anything  
13 more than that. That was not my judgment that he  
14 was on partial disability. It's just that he told  
15 me he was on partial disability. What went into  
16 that determination, what he was able to perform  
17

18  
19 no opinion on that. So it just -- a few words that  
20 he told me, and that's all.

21 Q Do you have -- did you have an understanding, then,  
22 that Dr. Kolta was working?

23 A I had an understanding that he was working.

24 Q And when you noted that he was on partial  
25 disability, did that indicate to you that he was



1 receiving some type of benefit while he was still  
2 able to work?

3 A I don't know.

4 Q What was your understanding in terms of Dr. Kolta's  
5 capability of performing his duties as an  
6 anesthesiologist in September of '92?

7 A I can't remember specifically remember,  
8 September of '92. I can give you a general  
9 impression, just over *rile* course of my care for him,  
10 but I can't specifically talk about -- because .  
11 just don't remember.

12 Q So you don't know exactly what Dr. Kolta was able to  
13 do and what he was noe able to do in  
14 September of '92?

15 A No.

16 Q This general recollection, that I would like you to  
17 share with me in a minute, is that based on your  
18 impressions of Dr. Kolta and treatment of Dr. Koita  
19 over the last three years?

20 A Correct..

21 Q What is your general understanding of Dr. Kolta's  
22 abilities and his inabilities?

23 A Well, he did try to work and fulfill his  
24 responsibilities as an anesthesiologist, but because  
25 of the problems related to urinary control with his

1 getting wet, having to void frequently, odor,  
2 embarrassment, social concerns, he was preoccupied  
3 with these concerns so that he was -- he *did* not  
4 feel that he could function as *an* anesthesiologist

5 Q And these problems of getting wee, the odor, the  
6 embarrassment and preoccupation, they're all factors  
7 that Dr. Kolta merely mentioned to you?

8 A Yeah. He told me about them. Yes.

9 Q Other than the patient's history to you and  
10 complaining of these problems that we just  
11 mentioned, do you have any objective or diagnostic  
12 basis to determine what Dr. Kolta was able to do and  
13 not able to do with regard to work responsibilities?

14 A No. I'm not an anesthesiologist. I can't comment  
15 on that,

16 Q But as a treating urologist, do you have any  
17 diagnostic or objective basis?

18 A I think from what he told me. And as I said, I've  
19 known him over several years. He is unable to  
20 function as an anesthesiologist, based on our  
21 conversations and --

22 Q And that's based solely on the history that he's  
23 reporting to you?

24 A Right. I know he's incontinent. I've examined him.  
25 He's wet when I examined him, so I know he's

1           incontinent. It's based on -- I've not seen him in  
2           the workplace, if that's what you mean.

3   Q       But in terms -- let's put aside the fact that  
4           Dr. Kolta is incontinent, if we agree on that basis,  
5           for purposes of this deposition   What I'm trying to  
6           get at is do you have any objective or diagnostic  
7           basis to determine his level of incontinence and its  
8           impact on his ability or inability to function as an  
9           anesthesiologist?

10  A       Other than what he's told me?

11  Q       Other than patient history.

12  A       No.

13  Q       Okay. Did Dr. Kolta tell you the range of duties  
14           that he was able to accomplish in September of '92?

15  A       Not that I can remember.

16  Q       Did Dr. Kolta report to you that in September of '92  
17           he had to work a shorter workday?

18  A       In my recollection, it seems to be, but I really  
19           can't be sure of that. And it really relates more  
20           of -- my dealings with him over the past few years  
21           than September of '92.

22  3       So is it fair to say that in September of '92, you  
23           don't have any particular recollection, one way or  
24           the other, with regard to Dr. Kolta's work as an  
25           anesthesiologist?

- 1 A No That's correct
- 2 Q Dr Kolta told me in his deposition that in  
3 September of '92 he was working at Lorain Hospital  
4 and working essentially from about 7:00 in the  
5 morning until about 5:00 or 6:00 at night, Were you  
6 aware that those were the hours that Dr. Kolta was  
7 keeping?
- 8 A No.
- 9 Q Did he report to you having any problems keeping  
10 what schedule?
- 11 A No. The -- as ■ said, my comments relate to not  
12 September of '92, but the general terms, and he was  
13 having problems working, I know, because of the  
14 incontinence and some of the things we've already  
15 discussed. But again, I can't give you the  
16 specifics of when I became aware of that,  
17 specifically in September of '32.
- 18 Q Did you have any discussions with Dr. Kolta about  
19 himself quitting work or resigning from work?
- 20 A Again, I believe he told me somewhere in this  
21 interval that he was unable to work. When that was  
22 and what he did about it, I do not know.
- 23 Q You next saw Dr. Kolta in December '92, and at that  
24 point, your note indicates that he, Dr. Kolta, is  
25 unable to work. Is that correct?

1 A That's correcc

2 Q And is that statement a reflection of the history  
3 that Dr. Kolta reported to you?

4 A Yes.

5 Q You indicate that Dr Kolta was totally disabled in  
6 December of '92 because of persistent incontinence?

7 A That's correcc.

8 Q Do you understand, based on your conversations with  
9 Dr. Kolta, that total disability meant inability to  
13 perform any of his work duties?

11 A I can't give *you* the legal definition, obviously,  
12 but this is probably what he told me. I assumed  
13 that he was unable to work, period.

14 Q And the basis for the total disability is, again,  
15 Dr. Kolta's report to you that he has persistent  
16 incontinence?

17 A Correct.

18 Q By December of '92 when you're aware that Dr. Kolta  
19 is nor. working, do you know how many pads he was  
20 using on a daily basis?

21 A Not specifically. As I told you before, I believe  
22 it was three to four But I can't remember. It's  
23 obviously not recorded.

24 Q Ts it fair to say that it's your recollection that  
25 Dr. Kolta used and uses three to four pads a day and

1 has pretty much remained consistent with that from  
2 the time you first saw him in September of '92  
3 through the present?

4 A Yes. I think that's correct.

5 Q With regard to the amount of Dr. Kolta's  
6 incontinence, do you have any understanding as to  
7 where he falls in that spectrum? In other words,  
8 did he report to you that his pads are very wet or  
9 slightly wet, or do you have any recollection or  
10 understanding?

11 A No.

12 Q And that would be true of September of '92 through  
13 the current time?

14 A Yeah, I mean, they're wet, but the degree of how  
15 wet they are, I really can't tell you.

16 Q And you know that they're wet, because that's what  
17 Dr. Kolta told you?

18 A That is. And when I've examined him in the office,  
19 I've noticed he's wet.

20 Q Is he very wet, slightly wet?

21 A I don't know how to quantitate it. They're not  
22 dripping, soaked, if that's what you mean. But  
23 they're more than damp, so they're somewhere in the  
24 middle.

25 Q On how many occasions during the time that you have

1           seen Dr. Kolta has his pad been more than slightly  
2           damp?

3   A       I can't remember.

4   Q       When you do examine Dr. Kolta, is it your  
5           recollection that his pad is always at least  
6           slightly damp?;

7   A.      That's my recollection.

8   Q       And I think you told me before that throughout this  
9           three-year period that we're discussing, that is,  
10          '92 through '95, your understanding is that  
11          Dr. Kolta's need to urinate is about every two  
12          hours?

13   A       Correct.

14   Q       In December, when Dr. Kolta came to see you, did he  
15           report any particular history that would have caused  
16           his December '92 checkup to be different or in  
17           contrast to his September '92 checkup?

18   A       I can't remember, based on my notes.

19   Q       Well, you don't have any report of any increased  
20           need to urinate. Is that correct?

21   A       No.

22   Q       And you don't have any report of an increase in the  
23           number of pads?

24   A       Correct.

25   Q       And you don't have any report of an increase in the

1 amount of incontinence? By amount I'm talking  
2 about the condition or the pads

3 A No. I understand. Correct

4 Q Is it fair to say that the term persistent  
5 incontinence was Dr. Kolta's term? That is what he  
6 reported to you?

7 A Yeah. That's based on history.

8 Q You had Dr. Kolta placed on Ephedrine?

9 A. Ephedrine, correct.

10 Q And he reported to you that he was not having much  
11 luck with that. Is that correct?

12 A That's correct.

13 Q And at the same time he was on Entex?

14 A Correct

15 Q And had some minimal response to that?

16 A That's what it says, right.

17 Q Since he was reporting either no favorable response  
18 or minimal favorable response to his medications,  
19 did you think about changing those?

20 A Generally, if they're not responding, we will  
21 usually discontinue the medication.

22 Q Did you do that in Dr. Kolta's case? Do you know?

23 A I assume so, because subsequent notes do not state  
24 that he's on the medication. But I -- because  
25 usually -- I can't say it's 100 percent, but usually



1 if a patient is mainrained on medication, you know,  
2 I continue to note that. But sometimes it slips  
3 through. But I would make the assumption -- and I  
4 can't remember He's *not* on any medication, we'll  
5 say, as of April 1393

6 Excuse me April of '93 says continues to be  
7 inconcinene with minimal effect with. Entex so I  
8 assume he's on it then In July of '33 I would,  
9 again, make the assumption that he's probably not  
10 But, as I said it may have slipped through. They  
11 may not have recorded it.

12 Q So what you're telling me is that perhaps at times  
13 Dr. Kolta was on Entex, and then other times he was  
14 not taking it?

15 A He probably had. a trial of it, and he was certainly  
16 on it for a while, but I believe it's been  
17 discontinued. But specifically when, I don't know.

18 Q What was the purpose of these medications?

19 A They are what's called stimulating agenes, ana they  
20 tend to stimulate the sphincter, external urinary  
21 sphincter, to help urinary control.

22 Q And by Dr. Kolta's reporeing, they weren't doing a  
23 very good job for him?

24 A Correct.

25 Q Were there other medications that you could have

1           selected to --

2   A       The other types of medications that are *used* to  
3           relax the urinary bladder, which are -- are called  
4           anticholinergic a-n-t-i-c-h-o-l-i-n-e-r-g-i-c,  
5           agents, I will use those if a patient is having  
6           incontinence due to what we call inhibited bladder  
7           constrictions or irritable bladder. And based on  
8           the history, I did not think that was the situation.  
9           We didn't use that medication. But that's the other  
10          type *of* medication that's used for incontinence.

11   Q       So the way you were trying to attack this from a  
12           pharmaceutical standpoint is to focus on the  
13           sphincter and not the bladder?

14   A       Correct.

15   Q       You indicated that Dr. Kolta reported to you that he  
16           had tried to use an external device. Is that  
17           correct?

18   A       Yeah. I believe in the note it states that he used  
19           an external device, but it had inflammation and  
20           irritation associated with it.

21   Q       Do you know what external device that was?

22   a       Probably a condom catheter.

23   Q       Is that referred to as a Texas catheter?

24   A       Correct.

25   Q       Do you know when Dr. Kolta attempted to use this?

1 A Probably sometime between September 29th, 1992 and  
2 December 15th, 1992.

3 Q Would it surprise you that the only reference to  
4 Dr. Kolta using a Texas catheter is in  
5 Dr. Cherukuri's notes from May of 1991?

6 A Who's Dr. Cherukuri?

7 Q Another physician who has treated Dr. Kolta.

8 A It would not surprise me that he *had* tried it But  
3 I wasn't aware of it from these notes

10 Q And you weren't aware of how long ago he had tried  
11 it?

12 A No. As I said, just based on that note, I made that  
13 assumption, which obviously was incorrect.

14 Q Again, you don't have any independent basis to know  
15 that Dr. Kolta used or attempted to use the Texas  
16 catheter other than what he told you?

17 A No, just based on history.

18 Q Do you know how long in terms of on a daily basis  
19 Dr. Kolta wore the Texas catheter?

20 A No.

21 Q Did he tell you how many days he wore it in a row?

22 A No.

23 Q So you don't know if he wore it all day, every day?

24 A Don't know.

25 3 If a skin irritation was a problem in using the

1 catheter, would you agree that if Dr Kolta  
2 restricted his use of the caeheter to a period of  
3 the day when his incontinence is worse, that that  
4 would probably lessen the risk of irritation?

5 A I can't say, because it is variable. Some patients  
6 are just unable to tolerate the Texas catheter. And  
7 if you look in the history of Texas catheters, or  
8 condom catheters, there are all different kinds that  
9 have been developed over the years, because,  
10 generally, they just don't really work very well.  
11 So some patients tolerate them very well; they seay  
12 on for days. Some patients are irritated right from  
13 the beginning, just from the catheter itself,  
14 irrespective of any urinary irritation. So I  
15 can't -- I understand the question. I just don't  
16 know if I can give you a reasonable answer, because  
17 it's so variable with people.

18 Q So the literature indicates that some people have  
19 irritation with the catheter from the minute they  
23 put it on?

21 A Correct.

22 Q That it's irrespective of the length of time they  
23 put it on?

24 A Correct, And I think part of that is borne out from  
25 the fact thae. there have been so many different

1 types of Texas catheters produced, different kinds  
2 and materials and whatever. If there was one  
3 satisfactory kind, there would have been one. And  
4 there was a period of time about 15, 20 years ago,  
5 there were a lot of them coming out; a lot more  
6 activity than we see today, as far as new  
7 developments

8 Q Would you say that the design of the catheter has  
9 improved vastly over the years?

10 A Well, there was a lot of activity, as I said,  
11 probably about 15 years ago or so, where there  
12 seemed to me there were a bunch of new ones coming  
13 out. I am not aware of any real new designs that  
14 have come out in the last five years. There may be.  
15 I'm just not aware of them.

16 Q Doctor, the last sentence of your first paragraph in  
17 December of '92 says that because of the frequent  
18 need to urinate and general irritability, you were  
19 unable to attend Dr. Kolta. What did you mean by  
20 that?

21 A I don't know. I saw that when I was -- either I was  
22 not interpreted when I was dictated -- I really  
23 don't know what it means.

24 Q Do you remember if irritability meant -- referred to  
25 Dr. Kolta's mental state at that point or skin

1 irritability?

2 a Well, I would assume I'm using the frequency of  
3 irritability of urination. Usually when I use the  
4 term irritability when I'm talking about urination  
5 it's frequency, urgency, a feeling that you have to  
6 urinate. So I'm making the assumption that that's  
7 what I'm referring to.

8 Q If we can move to April of '93, because I think the  
9 next time you saw Dr. Kolta, again, you report that  
10 he was still incontinent?

11 A Correct.

12 Q find, again, you told me before that with regard to  
13 the level and amount of incontinence, your general  
14 recollection is it's remained constant through  
15 the --

16 A Correct.

17 Q In July of '93, you saw Dr. Kolta again and you  
18 noted that he was still unable to work. Again, is  
19 that Dr. Kolta's reporting to you that he was unable  
20 to work?

21 A Correct.

22 Q Is there anything that stands out in your mind in  
23 September of '92 when Dr. Kolta was working and July  
24 of '93 when he reported that he was still unable to  
25 work?

1 A No.

2 Q Your last office entry that is part of this exhibit  
3 is October 12th, 1993?

4 A No, It's November 5th, 1993. That -- on the same  
5 page Just Keep going, Counsel.

6 Q Okay. So we have two more. With regard to October  
7 12th, '93, after -- the second line where you have  
8 ne continues si- % ~ e xana Ditropan Should that be  
9 a period then after that? And is the next sentence  
10 which -- I'm sorry. Can you strike that whole  
11 thing.

12 What I'm trying to figure out is should there  
13 have been a period after the word helps?

14 A Probably so.

15 Q I want to focus on the sentence that says while  
16 doing any physical activity, walking, exercise, he  
17 is totally incontinent. Again, that's what Dr.  
18 Kolta has reported to you, correct?

19 A Correct.

20 Q Do you know what Dr. Kolta meant when he said that  
21 he was totally incontinent? Did you have any  
22 discussion about that?

23 A Usually when I -- I can only interpret what I write,  
24 because -- usually when I state that somebody is  
25 totally incontinent, it means that they're losing

1 all their urine and they're not retaining any  
2 significant amount of urine Meaning somebody tells  
3 me they're walking and they are incontinent and  
4 then I'll usually ask them, well, do you urinate?  
5 And if they say yes to me, that's a partial  
6 incontinence, which means they were retaining some  
7 urine. If they told me they're totally incontinent  
8 meaning they don't urinate, all of the urine leaks  
9 out of the bladder, that's what I refer to as  
10 totally incontinent So by implication, that's what  
11 I think I mean.

12 Q So it's your recollection that you concluded  
13 Dr. Kolta was totally incontinent, or did Dr. Kolta  
14 tell you that he was totally incontinent?

15 A No. This is all history. This is my talking to the  
16 patient.

17 ? So in response to your question, do you urinate,  
18 Dr. Kolta would have told you that he was not  
19 urinating?

20 A Correct, Because all of the urine was leaking out.  
21 That's reading between the line, so to speak, of  
22 what is there

23 Q Okay. So he would have had zero percent bladder  
24 control'?

25 A While up and around walking, correct.



1 Q Was Dr. Kolta still wearing pads at this point?

2 A I believe so.

3 Q Do you know what Dr Kolta meant when he said that

4 walking would cause him to be totally incontinent?

5 A Well, it meant that when he was walking, the urine

6 would Peak out

7 Q But what I want to focus on is did he indicate how

8 much walking, or are we talking about merely walking

9 around his house or taking a walk around the block,

10 or do you have any idea?

11 A No. I don't know.

12 Q I'm trying to get an understanding of what Dr. Kolta

13 would have discussed with you when he said that

14 doing any physical activity rendered him totally

15 incontinent. Do you know if he was unable to drive

16 a car?

17 A I don't know, but my feeling would be it would be

18 walking, doing jumping jacks or something to that

19 effect. I don't think I was referring to driving a

20 car. You know, we stated that when he was sitting,

21 he had fairly -- you know, he had reasonable

22 control. It was mostly when he was walking, up,

23 standing, activities such as that.

24 Q Do you know if he had problems when he was just

25 walking from room to room in his house?

- 1 a The degree, I don't know
- 2 Q Sedentary or sitting activities, they are okay?
- 3 A They seemed to be okay. Correct.
- 4 Q Did Dr. Kolta say that he felt like he was house  
5 bound or couldn't get out and visit friends?
- 6 A Can't really remember.
- 7 Q Don't know. Okay. Do you have any recollection as  
8 to what type of activities Dr. Kolta could do  
9 without being totally incontinent?
- 10 A No.
- 11 Q So other than: sitting or engaging in some type or'  
12 sedentary activity, you would expect, based on this  
13 office entry, that Dr. Kolta would have been totally  
14 incontinent doing anything else. Is that fair to  
15 say?
- 16 A Well, walking around, general movements, yes.
- 17 Q Your office note doesn't indicate that the time of  
18 day would have made any difference in the level of  
19 his incontinence?
- 20 A Not at this point. But I think previous notes seem  
21 to indicate that he seemed to do worse later in the  
22 day, We have already discussed --
- 23 Q But by October of '93, was he totally incontinent  
24 throughout the day if he was doing any physical  
25 activity?

1 A I can't -- you know I just can't comment, because  
2 it's not specifically traced, and I can't remember.

3 (Defendant's Exhibit D  
4 marked for  
identification.)

5 Q Again, with regard to this October 12th office note,  
6 based on what Dr. Kolta told *you* then, would it be  
7 fair to say that he considered himself to be  
8 totally incontinent all day every day when he was  
9 engaged in any type of physical activity?

10 MR. BARRETT: Objection.

11 A That's my recollection, yes. I can't say -- when  
12 you say totally incontinent, that means that all  
13 urine is leaking out of the bladder and a patient is  
14 not urinating at all. Whether that -- he did  
15 urinate, so he wasn't totally incontinent all day  
16 long. If he was sitting, he had some continence.  
17 And he would urinate so I think the degree of his  
18 incontinence was related to the degree of his  
19 physical activity. The more active, the greater the  
20 loss of urine. The more sedentary, the lesser the  
21 loss of urine, or maybe controlled while sitting,  
22 which is certainly applied to some of these notes.

23 Q If we remove sitting as a physical activity -- I'm  
24 looking at your office note, and this is basically  
25 what Dr. Kolta reported. What he reported is

1 basically doing any physical activity rendered him  
2 totally incontinent?

3 A That's what the note states. But to answer the  
4 question you asked before, meaning he lost urine if  
5 he walked five feet, I don't know that. I just  
6 don't know.

7 Q I'm handing you what has been marked as Defendant's  
8 Exhibit D, which is a letter from Dr. Marshall to  
9 Mr. Barrett dated October 6th, 1993. And this  
10 exhibit is about six days before you saw Dr. Kolta.  
11 Is that correct?

12 a Correct.

13 Q Now, you will agree with me, won't you, that  
14 Dr. Marshall did not mention that Dr. Koita was  
15 totally incontinent at that point, did he?

16 MR. BARRETT: He's not reporting on  
17 the exam. He's summarizing the case. I want to  
18 make that clear.

19 A He states, quote, his principal problem has been  
20 stressing factors.

21 Q But in October of '93 when Dr. Marshall is reporting  
22 on Dr. Kolta's condition, he doesn't say that he is  
23 totally incontinent, does he?

24 A No. He did not say that.

25 3 He says that the incontinence just worsens after

1 Dr. Kolta has worked longer hours or in the evening.

2 Is that correct?

3 A Where are you reading that? I mean, I'm not  
4 doubting what you're telling me.

5 Q It's the end of that first paragraph that  
6 Dr. Marshall says that Dr. Kolta's incontinence  
7 really becomes a principal problem when he's working  
8 longer hours or in the evening?

9 A Yes. It says that it is more of a problem, that's  
10 correct'

11 Q And Dr. Marshall doesn't report that any physical  
12 activity causes Dr. Kolta to be totally incontinent.  
13 Is that correct?

14 MR. RARRETT: Objection. I don't know  
15 if that was even asked of Dr. Marshall.

16 Q I just want to know if Dr. Marshall is representing  
17 that in his letter of October 6th.

18 A No. He doesn't mention physical activity at all.

19 Q Doctor, I also wanted to ask you about paragraph  
20 three of Dr. Marshall's report where he attempts to  
21 give some insight into Dr. Kolta's problem. And he  
22 says what he thinks happens is that Dr. Kolta's  
23 external sphincter fatigues during the day, which  
24 would cause him to have an increasing level of  
25 stress incontinence at the end of the day? Could

I           you go back to your office note on October 12th of  
2           '93. And you said that you completed a digital  
3           rectal exam of Dr. Kolta. Is that correct?

4   A       Correct.

5   Q       And that exam showed good sphincter tone?

6   A       I'm talking about the rectal sphincter.

7   Q       So these two statements wouldn't have any --

8   A       No relationship to each other.

9   Q       If we can now look at your November 5, '93 office  
10          note -- first of all let me ask you, did you see  
11          Dr. Kolta on November 5th of '93?

12                 MR. BARRETT:           I think ■ could be of  
13                 some help in explaining how that note got in there.  
14                 May I?

15                 MS. JOHNSON:           Let me get Dr. Resnick's  
16                 response first.

17   A       I would presume that I may have spoken to him on the  
18          phone or -- the fact that there isn't a stamp on  
19          this yellow sheet implies that he probably was not  
20          seen in the office in a regular manner. Now,  
21          whether this was a phone conversation or whether  
22          this was a -- I saw him off hours. I just can't  
23          remember.

24                 More recently, I tried to make a note that I  
25          spoke with the patient on the phone, because this

1 has come up before. So I just don't know.

2 MR. BARRETT: I think I can help you  
3 both. Bill Ellis had a telephone conversation on  
4 November 5th with Dr Resnick. So your office  
5 contacted Dr. Resnick, with my permission, to  
6 discuss some issues. And this was, apparently, an  
7 issue that was discussed.

8 MS. JOHNSON: Okay. Thank you.

9 Q Dr. Kolta told me in his deposition that he was --  
10 that it was stressful for him to have to discontinue  
11 working. And that's also reflected in  
12 Dr. Marshall's records. Did Dr. Kolta tell you that  
13 he was disappointed or unhappy that he had to stop  
14 working?

15 A Yeah, He wanted to work. And though it's not noted  
16 here, he was upset, distressed, that he was not able  
17 to work.

18 Q Given Dr. Kolta's interest in working, is there any  
19 reason why you didn't suggest that he at least try  
20 the Texas catheter again?

21 A Well, I think he's had a -- it was irritating to  
22 him, and we may have talked about it, though it may  
23 not have been recorded, to try these different  
24 things, but he felt, and I think, looking at the  
25 comments on November 5th, that it wasn't a viable

1 solution to his problem.

2 Q And other than his one-time experience with the  
3 Texas catheter in. -- sometime in 1991, what would  
4 make it not a viable option to at least consider a  
5 couple years later when he's so upset about not  
6 being able to work?

7 A First of all, I don't know if it was a one-time  
8 occurrence, as I told you. I don't know if it was  
9 just 1991 or other times. But patient's telling me  
10 that he's tried it and doesn't want to try it again.  
11 That's not an abnormal experience. I mean, I've  
12 seen patients with similar situations that have had  
13 a bad experience on a medication, and I'll say to  
14 them, why don't you try the medication.

15 No. I tried it three years ago. I'm not  
16 going to take it anymore. So I don't believe that's  
17 an abnormal response from a patient. He had a bad  
18 experience and doesn't want to have a second bad  
19 experience,

20 Q You didn't suggest, then, to Dr. Kolta that he at  
21 least give it another try?

22 A I don't know. I tend to -- I recollect that there  
23 had been some discussions about the use of a Texas  
24 catheter, trying one. And I know he just didn't  
25 want to use one. But I cannot state how many times



1 he tried and when he tried.

2 Q But at least it's your understanding at this point  
3 ehae Dr. Kolta rejected that out of hand as a  
4 possible option?

5 A That is correct

6 Q Dr. Marshall, in a letter sometime -- I'm sorry.  
7 It's the October '93 letter, where he basically ran  
8 down a list of oprions for Dr. Kolta. If we could  
9 look at that. He identified the implantation of an  
10 artificial sphincter as a possibility, but not  
11 necessarily something to be considered for  
12 Dr. Kolta, because Dr. Kolta's level of incontinence  
13 is less than the level normally considered for a  
14 surgical patient for this sphincter implant. Do you  
15 have --

16 A I don't, do the sphincter work, but I think that's  
17 the subjective opinion, and I personally would think  
18 that a sphincter would be an option for Dr. Kolta,  
19 and that was discussed with him. He just didn't  
20 want to have another operation.

21 Q So from your perspective, the implantation of the  
22 sphincter is certainly a viable option for  
23 Dr. Kolta?

24 A I think so.

25 Q And the point of that would be to help control his

1           incontinence to the point where he could resume  
2           active -- an active lifestyle?

3   A       Yeah. That would be the end result, yes.

4   Q       And an active lifestyle would include the ability to  
5           work?

6   A       Correct.

7   Q       Did you discuss with Dr. Kolta the collagen work  
8           that's been done and approved by the FDA?

9   A       Yes.

10   Q       Do you know when you discussed that with Dr. Kolta?

11   A       Well, when collagen was being developed and it was  
12           on the horizon. However, I don't think collagen is  
13           useful in patients with incontinence via radical  
14           prostatectomy. Although it's been used in general  
15           experience and has failed. Although most people  
16           have stopped its use in that patient population,  
17           there are some that are continuing to use it.  
18           However, most of its utilization is in women with  
19           incontinence. And it really hasn't been that  
20           effective in most radical prostatectomies. So I'm  
21           not recommending that currently in patients as a  
22           form of treatment because of the very high failure  
23           rate.

24   Q       And you're not recommending it for Dr. Kolta because  
25           of the basis for his incontinence?

I a. Correce.

2 Q would you consider a change in Dr. Kolta's  
3 medications if new drugs were developed'?

4 A If something came up sure, worth a try.

5 Q Dr. Marshall had mentioned to Dr. Kolta that he  
6 should be at Pease seen by a Dr. McGuire in Ann  
7 Arbor, Michigan. Are you familiar with Dr. McGuire  
8 in Ann Arbor?

9 A Yes. He's now in Houston, Texas. He's no longer in  
10 Ann Arbor. But I know him well.

11 Q He's moved to a warmer climate. Would Dr. McGuire's  
12 work be an option?

13 A McGuire is a pioneer in the use of collagen and  
14 still a proponent in the use of collagen in post  
15 radical prostatectomy patients. However, most  
16 physicians do not feel it's of value. So, again,  
17 that would be an option for him to see Dr. McGuire.

18 Q It wouldn't be a bad idea for him to avail himself  
19 of a one-time visit?

20 A That would be his choice, correct.

21 Q Doctor, if we could move to the first page of  
22 Exhibit A, I believe it is, and you will agree that  
23 that's a letter that you sent to Mr. Barrett on  
24 November 5th?

25 A Right.

1 Q And you indicate that you're disclosing material  
2 that you discussed with Mr. Barrett. Is that  
3 correct?

4 A Correct.

5 Q What material was that?

6 A I assume the office records that I sent.

7 Q So you know how many times you have discussed  
8 Dr. Kolta's case with Mr. Barrett?

9 A We discussed it this morning. We had a discussion  
10 last week, maybe another time. So I would say three  
11 times.

12 Q Doctor, when I went over your records, I didn't see  
13 any written opinion from you with regard to  
14 Dr. Kolta's ability to function as an  
15 anesthesiologist. Are you going to be rendering an  
16 opinion in that regard in this case?

17 A. Nobody's asked me to,

18 Q Is it your understanding that a doctor's role is  
19 to -- when asked, to render an opinion with regard  
20 to the impairment as opposed to disability?

21 MR. BARRETT: Do you understand that?

22 A I understand the question. I guess I really don't  
23 feel qualified to answer it, because I'm not  
24 familiar with the legal implications of that. We do  
25 very little work, or essentially no work, related to

1 disability and workman's compensation, in contrast  
2 to my orthopedic friends, who deal with it all the  
3 time. So I really have no experience in that whole  
4 area.

5 Q Do you feel qualified to render an opinion with  
6 regard to Dr. Kolta's ability to work or function as  
7 an anesthesiologist?

8 A Only from what he's told me; that's all.

9 Q And Dr. Kolta has told you that he can't work?

10 A That's correct.

11 Q Has Dr. Kolta given you a description of his work as  
12 an anesthesiologist?

13 A Not specifically. I mean, I have an idea what  
14 anesthesiologists do. But I can't say he's told me  
15 specifically what he does. By implication, it's  
16 what my perception is as to what an anesthesiologist  
17 does.

18 Q Did Dr. Kolta tell you what physical demands are  
19 placed on him as an anesthesiologist?

20 A No, other than my, again, understanding of what  
21 anesthesiologists do.

22 Q Did Dr. Kolta discuss any work schedules with you?

23 A No, not that I can remember.

24 Q Did he discuss with you any of the arrangements that  
25 he may have had with his group practice?

1 A I know he was -- he had tried to work something out.  
2 But the specific, again, I can't remember, because  
3 he did want to work.

When you say that your recollection is that he tried  
5 to work something out, do you mean at the time that  
6 he was going in for his surgery'?

7 A No. I mean postoperatively, after he had his  
8 surgery

9 Q What did he tell you, or what do you recall of any  
10 conversations?

11 A It seems to me there was some question of could he  
12 have limited activity or have limited exposure to  
13 limited cases, that kind of thing. And I can't  
14 remember if it was working half a day or time limits  
15 that he wanted -- and this is all recollection, to  
16 see if he could tailor his activities to meet his  
17 problem, so to speak, related to urinary  
18 incontinence.

19 Q So Dr. Kolta's reported to you that he has attempted  
20 to modify his work arrangements?

21 A As I remember But the details of which, I just  
22 can't tell you,

23 Q The reason why I want to be clear on this is because  
24 Cr. Kolta has told me that he never broached his  
25 group practice or the hospital with any modified

1 work arrangement because there wouldn't be any  
2 point in it

3 A I don't know I'm just saying what I recoliecc,  
4 that's all.

5 Q And at this point, you don't -- is it fair to say  
6 that you don't have an opinion with regard to Dr.  
7 Kolta's ability to function as an anesthesiologist?

8 A No, because I -- I'm not an anesthesiologist.

9 Q And is that the only reason that you wouldn't  
10 feel --

11 A Yeah I mean -- that's right.

12 Q Okay. And if that's the basis for your neutrality  
13 on this position, that is because you're not an  
14 anesthesiologist is it fair to say that you won't  
15 be rendering an opinion in this case, because I  
16 don't expect that you're ever going to become an  
17 anesthesiologist. Is that correct?

18 A That's correct. I hope not.

19 Q You're finished schooling. Is that correct?

20 A My wife would kill me.

21 MS. JOHNSON: I think I'm finished,  
22 Doctor, if you would just give me a moment.

23 That's all I have. Thank you.

24 MR. BARRETT: I'm going to -- I don't  
25 do this very often Sue in view of the subject that

1       came up, I want the record to show that I'm asking  
2       Dr. Resnick, who is a neutral person in this case,  
3       some questions on the subject that was brought up.

4 By Mr. Barrett;

5 Q       And just to clarify your answers, so that I might --  
6       and that's the subject of the availability of an  
7       artificial sphincter *as* an option here, I  
8       understand, Dr. Resnick, you personally do not ac  
9       the sphincter work do you?

10 A       Correct.

11 Q       Could you go into upsides and downsides, if there  
12       are any, of --

13 A       The upside --

14                   MS. JOHNSON:           Prior to your answering,  
15       are you asking in general the upsides and downsides,  
16       what the medical literature indicates, or with Dr.  
17       Kolta specifically?

18 Q       Well, would there be any difference?

19 A       I'm not sure there would be,

20 Q       So whatever the literature indicates, would be  
21       equally applicable to Dr. Kolta, as any other  
22       patient?

23 A       I would think so. Upside is it could take, have a  
24       patient who is incontinent become continent.  
25       Downside is an operation. It's an artificial device



1       that can fail. I guess varying figures in the  
2       literature on the failure rate, Meaning after it's  
3       implanted, it's a mechanical device, so they wear  
4       out. And then there's complications with the  
5       operation and infection, erosion into the urethra,  
6       and it's undergoing an operation.

7   Q    Doctor, in connection with the failure, if, in fact,  
8       the person has an artificial sphincter, what does  
9       thae entail? Does thae entail removal of the  
10      natural sphincter?

11  A    No. It's the placement of a plastic cuff, so to  
12      speak, around the urethra. That's inflated and  
13      deflated. When it's inflated, the urethra is  
14      compressed, The patient has control, When it's  
15      deflated, the urethra opens and the patient is able  
16      to urinate.

17  Q    And in the event of failure, what's involved?

18  A    The cuff can break so that the fluid that is used to  
19      inflate it may leak out. The reservoir that holds  
20      the fluid car, leak. The tubing that is used can  
21      kink. There's a valve mechanism that allows the  
22      Inflow and outflow from the sphincter; that can  
23      fail. And as I mentioned, it can get infected or it  
24      can erode, because you have a euff around the  
25      urethra. It can erode into the urethra.

1 Q In your opinion, does Dr. Kolta have legitimate  
2 concerns in rejecting an artificial sphincter as a  
3 possible -- as the operative procedure in this case?

4 a Yeah. It's a concern, because it's an operation  
5 with complications, and he found it distasteful, as  
6 I remember, to have an artificial device put in him  
7 like that. There's a mechanism that sits in the  
8 scrotum. He found that distasteful and did not want  
9 to undergo another operative procedure

10 MR. BARRETT: Thank you, Doctor.  
11 Thank you. That's all I have.

12 MS. JOHNSON: Doctor, just one  
13 follow-up question. I just want to be clear on your  
14 testimony from before.

15 By Ms. Johnson:

16 Q From a medical standpoint, you consider the  
17 sphincter device a viable option for Dr. Kolta?

18 a Yes, I do,

19 Q And the complications that you discussed, isn't it  
20 fair to say that there are complications and a risk  
21 of failure with just about any surgery?

22 A Unfortunately, yes.

23 Q In terms of risk, in terms of fatality, where would  
24 you rank this particular procedure?

25 A Fatality, you know, more probably related to the

1 anesthesia that would be required than the operation  
2 itself. Complication rate, I'm not totally up on  
3 the literature, but, seemingly, in the 10 percent  
4 range, something like that, meaning infection,  
5 erosion, something like that, that we've talked  
6 about, malfunction.

7 Q So the rate of failure, of complication, with this  
8 particular surgery is about 10 percent?

9 A I think it's 10 percent, maybe a little higher, but  
10 not much higher. I think that's a fair figure.

11 MS. JOHNSON: Thank you.

12 MR. BARRETT: I have nothing further.

13 Thank you.

14 (Signature was waived.)

15

16

17

18

19

20

21

22

23

24

25

1 State of Ohio,

) SS: CERTIFICATE


2 County of Cuyahoga.

3 I, Barbara J. Strahler, Notary Public in and for  
4 the State of Ohio, duly commissioned and qualified, do  
5 hereby certify that the within named witness,  
6 Martin Resnick, M.D., was by me first duly sworn to  
7 testify the truth, the whole truth, and nothing but the  
8 truth in the cause aforesaid; that the testimony then  
9 given by him was by me reduced to stenotype/computer in  
10 the presence of said witness, afterward transcribed, and  
11 that the foregoing is a true and correct transcript of the  
12 testimony so given by him as aforesaid.

13 I do further certify that this deposition was  
14 taken at the time and place in the foregoing caption  
15 specified, and was completed without adjournment.

16 I do further certify that I am not a relative,  
17 counsel, or attorney of either party, or otherwise  
18 interested in the event of this action

19 IN WITNESS WHEREOF, I have hereunto set my hand  
20 and affixed my seal of office at Cleveland, Ohio, on  
21 this 24th day of July, 1995.

22   
23 Barbara J. Strahler  
24 Notary Public in and for the State of Ohio.  
25 My commission expires October 31, 1993.