

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3  
4 -----  
5 JOHN M. HUSTON, Executor,

6 Plaintiff,

7 vs.

JUDGE WILLIAM COYNE  
Case No. 439194

8 THE CLEVELAND CLINIC  
FOUNDATION, et al.,

9 Defendants.  
10 -----

ORIGINAL

11  
12 **DEPOSITION of STANLEY J. ROBBOY, MD, a**  
13 witness called for examination by counsel for the  
14 Plaintiff taken pursuant to the Ohio Rules of Civil  
15 Procedure, before ROBIN J. SEYMOUR, Registered  
16 Professional Reporter and Notary Public in and for  
17 the State of North Carolina, at the Washington Duke  
18 Inn, 3301 Cameron Boulevard, Durham, North Carolina,  
19 on Tuesday, June 18, 2002, commencing at 9:05 a.m.

20  
21  
22 CATHY JONES & ASSOCIATES

23 212 SOUTHERLAND STREET

24 DURHAM, NORTH CAROLINA 27703

25 (919) 596-7700

## APPEARANCES

FOR THE PLAINTIFF **MEREL GREY NISSENBERG, ESQ.**  
1200 Prospect Street, Suite 550  
La Jolla, California 92037  
Telephone: (858) 459-0631  
Facsimile: (858) 459-9308

FOR THE DEFENDANT **WILLIAM D. BONEZZI, ESQ.**  
Bonezzi Switzer Murphy &  
Polito Co. L.P.A.  
Leader Building, Suite 1400  
526 Superior Avenue  
Cleveland, Ohio 44114-1491  
Telephone: (216) 875-2055  
Facsimile: (216) 875-1570

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

INDEX OF EXAMINATIONS

Direct Examination by Ms. Nissenberg

Page 5

\* \* \* \*

TABLE OF EXHIBITS

EXHIBIT NO.		Identified	Marked
1	Curriculum Vitae	34	*
2	Letter dated 3/6/02 to Stanley J. Robboy, MD from William D. Bonezzi	34	*

\* Exhibit marked at the conclusion of the deposition.

---

S T I P U L A T I O N S

Before testimony was taken, it was stipulated by and between counsel representing the respective parties as follows:

1. That any defect in the notice of the taking of this deposition, either as to time or place, or otherwise as required by statute is expressly waived, and this deposition shall have the same effect as if formal notice in all respects as required by statute had been given and served upon the counsel in the manner prescribed by law.

2. That this deposition shall be taken for the purpose of discovery or for use as evidence in the above-entitled action, or for both purposes.

3. That this deposition is deemed opened and all formalities and requirements with respect to the opening of the same, expressly including notice of the opening of this deposition, are hereby waived, and this deposition shall have the same effect as if all formalities in respect to the opening of the same had been complied with in detail.

4. That the undersigned, Robin J. Seymour, a Registered Professional Reporter and Notary Public, is duly qualified and constituted to take this deposition.

5. Objections to questions, except as to the form thereof, and motions to strike answers need not be made during the taking of this deposition, but may be reserved until any pretrial hearing held before any judge or any court of competent jurisdiction for the purpose of ruling thereon, or at any other hearing or trial of said case at which said deposition might be used, except that an objection as to the form of a question must be made at the time such a question is asked or objection is waived as to the form of the question.

6. That the signature of the witness to the deposition is not hereby waived.

7. That the Ohio Rules of Civil Procedure shall control concerning the use of the deposition in court.

1                   STANLEY J. ROBBOY, MD,  
2                   having been affirmed, was examined and  
3                   testified as follows:

4                   DIRECT EXAMINATION BY MS. NISSENBERG:

5           Q     Would you state and spell your name for the  
6           record, please.

7           A     Stanley J. Robboy, R-o-b-b-o-y.

8           Q     And you are a medical doctor?

9           A     Yes.

10          Q     I introduced myself off the record. I'll do  
11          so again for the record. I'm Merel Nissenberg and I  
12          represent the surviving husband and children of Connie  
13          Huston who died in September of 2000. The family's  
14          filed a suit, as you know, against the Cleveland Clinic  
15          Foundation and we're here to get your testimony today  
16          as an expert witness since you have been designated as  
17          such by the Cleveland Clinic. Is that your  
18          understanding as well?

19          A     Yes.

20          Q     Have you ever had your deposition taken  
21          before?

22          A     Yes.

23          Q     Approximately how many times?

24          A     Twenty.

25          Q     How recently were the last few?

1           A     One was recently, within the last month or  
2     two months, and then probably five or six months  
3     before.

4           Q     Do you feel comfortable with the deposition  
5     process or do you want me to go through the rules and  
6     regulations?

7           A     No, I feel comfortable.

8           Q     The only thing is I speak very fast so I'll  
9     try to slow it down. But if you don't understand a  
10    question as I asked it, please ask me to rephrase it or  
11    repeat it, because if you answer it as asked, I will  
12    assume you understood it as asked.

13          A     I will ask.

14          Q     What types of cases did you give deposition  
15    testimony in in the last year?

16          A     In gynecologic pathology.

17          Q     Anything involving diagnoses of ovarian  
18    cancer?

19          A     I don't remember the cases, but that's a  
20    common area that I work with, so...

21          Q     When is the last time that -- that you gave a  
22    deposition involving a diagnosis or failure to make a  
23    diagnosis of ovarian cancer?

24          A     I don't remember.

25          Q     But you believe that you have done so?

1 A Oh, yeah.

2 Q What about with respect to cancer in an  
3 endometriosis implant?

4 A I don't remember if I've had a case like  
5 that.

6 Q Okay. Do you remember the names of any of  
7 the cases in the last year or two that you testified  
8 in?

9 A The last case I did was with a lawyer in the  
10 firm of Tuggle & Duggin.

11 Q I think you'll have to spell that for the  
12 court reporter.

13 A T-u-g-g-l-e. I believe D-u-g-g-i-n. And I  
14 don't remember the cases before that.

15 a And what state are they in?

16 A That was North Carolina.

17 Q Have you ever given testimony on behalf of a  
18 plaintiff or defendant in the state of Ohio?

19 Let me phrase that in a case that's been  
20 filed in the state of Ohio. You may have given your  
21 testimony out of Ohio.

22 A Right. I know there was one case in Ohio  
23 many years ago.

24 Q Any testimony in California?

25 A I don't believe California.

1 [Discussion off the record.]

2 Q Have you ever been deposed as a defendant,  
3 whether specifically named as part of Duke Medical  
4 Center or otherwise?

5 A Yes.

6 Q Approximately how many times?

7 A Once.

8 Q With what was that in connection?

9 A An error in -- an alleged error in diagnosis.

10 Q What type of diagnosis?

11 A Ovarian cancer.

12 Q Was that a North Carolina case?

13 A Yes.

14 Q How long ago was that, approximately?

15 A I think it was brought about two or three  
16 years ago.

17 Q Do you remember the name of the case or the  
18 name of the attorney on the other side?

19 A No.

20 Q Was that filed in this county where Duke  
21 resides?

22 A Yes.

23 Q Did the patient survive in that case; do you  
24 know?

25 A Yes.



1           Q     The allegations involved a late diagnosis of  
2     ovarian carcinoma?

3           A     No, it was a differential diagnosis of  
4     ovarian versus breast cancer that was metastatic to the  
5     ovary.

6           Q     And how did you call that diagnosis?

7           A     On the material that I had, I had initially  
8     called it metastatic breast cancer. And later, after  
9     review, all the material supported that it was probably  
10    ovarian cancer.

11          Q     And the patient is still alive, you said?

12          A     Yes.

13          Q     Any other times in which you've been named as  
14    a defendant?

15          A     No.

16          Q     Of the depositions that you've given in the  
17    last five years, about what percentage has been on  
18    behalf of a plaintiff versus a defendant?

19          A     Probably about 80/20 on the defendants.

20          Q     And approximately how many times in the last  
21    10 years have you served as an expert witness?

22          A     You mean in terms of deposition?

23          Q     Well, how many times have you been named as  
24    an expert witness? Designated as an expert on behalf  
25    of one side or the other?

1           A     I have no idea.

2           Q     How can you break it down so that you can  
3 answer the question? How many times have you given  
4 deposition testimony?

5           A     Given deposition.

6           Q     Okay. In the last 10 years.

7           A     I probably do about one to two depositions a  
8 year.

9           Q     And again, you said about 80/20 defense?

10          A     Yeah.

11          Q     And about how many times per year are you  
12 asked to review medical material regarding a medical  
13 negligence claim?

14          A     Where I actually know that it's a lawyer  
15 asking me, it's probably 5 to 10 times a year.

16          Q     And again, can you break that down plaintiff  
17 versus defendant or are you unable to do so?

18          A     I'd probably leave it in the same frequency  
19 that I said before.

20          Q     Okay. Now, in terms of your time here at  
21 Duke, I notice that you're also listed as a professor  
22 of, I believe, ob-gyn?

23          A     Correct.

24          Q     Tell me what percentage of your time do you  
25 spend in clinical work as opposed to administrative?

1 A Probably --

2 Q All clinical?

3 A Yeah. Probably three-fourths of my time at  
4 least.

5 Q And of that, can you break that down what  
6 percentage is strictly ob-gyn versus gyn pathology?

7 A I'm not sure I understand the question.

8 Q Well, you're a professor of ob-gyn as well as  
9 a professor of pathology, correct?

10 A Correct.

11 Q Can you break down the percentage of time  
12 that you spend strictly as ob-gyn, that is clinical  
13 obstetrician-gynecologist versus pathologist?

14 A Zero as an obstetrician-gynecologist.  
15 Hundred percent as a gynecologic pathologist.

16 Q What percentage of your time would you say is  
17 spent reviewing medical matters or working on  
18 medicolegal work?

19 A You asked two different questions.

20 Q Okay. What percentage of your time is spent  
21 reviewing medical matters?

22 A Are you talking of --

23 Q Medicolegal. In a medicolegal context.  
24 Obviously, all your work is medical.

25 A Okay. Small percent.

1 Q Can you give me a figure or an estimate?

2 A Probably 5 percent.

3 Q What percentage of your annual income in 2000  
4 and 2001 represented work done in medicolegal work?

5 A Probably about 15 percent.

6 Q Ana anything other than reviewing medical  
7 records or giving deposition or trial testimony that  
8 you do in the medicolegal field?

9 A Yes.

10 Q What is that?

11 A I've lectured on medical malpractice.

12 Q And where have you given those lectures?

13 A For the College of American Pathologists and  
14 for the American Society of Clinical Pathology,

15 Q Are you able to describe for me the types of  
16 topics that you lectured on to those two groups?

17 A Both generally the same that deal with --  
18 very broad scope, medical malpractice. What it is;  
19 what to do if one is sued; how to work with reports so  
20 that one writes a tighter, clearer report so that one  
21 is not particularly sued. Generally, how to have a  
22 better grade of practice.

23 Q How to prevent medical malpractice claims, in  
24 other words?

25 MR. BONEZZI: Objection. That's not what he

1 said.

2 Q (By Ms. Nissenberg) You can answer.

3 A i said to write a better report. How to --  
4 how to have a tighter, more cogent report.

5 Q And you said so that you could avoid medical  
6 negligence claims? Or is that not part of it?

7 A I wouldn't characterize it that way. It's  
8 how to be a better physician so one is not sloppy.

9 Q Any other lectures that you've given with  
10 respect to medical negligence litigation?

11 A I may, but none come to mind.

12 Q Have you ever spoken to any defense attorney  
13 groups regarding the topic?

14 A Not groups.

15 Q Have you ever spoken to any defense attorneys  
16 other than an individual attorney at any one time  
17 regarding medical negligence litigation?

18 A No.

19 Q When you broke it down to not groups, what  
20 were you referring to?

21 A Quite often I speak with attorneys, both  
22 plaintiff and defense, as to problems and why people do  
23 get into medical malpractice suits.

24 Q And those are not formal-type conversations?

25 A I don't know what you mean by "formal."

1           Q     Are they presented in a formal format or  
2 simply a telephone conversation?

3           A     No. Telephone or personal contact.

4           Q     Have you ever worked with any defense firms  
5 in Cleveland before?

6           A     You asked a question earlier, had I ever had  
7 a case in Ohio. One many, many years ago, but I have  
8 no idea what the name of the firm is.

9           Q     Are you part of any panel of medical experts  
10 or any type of group where the experts are contacted by  
11 potential plaintiff's attorneys to review cases?

12          A     No.

13          Q     Do you know Mr. Bonezzi outside of this case?

14          A     No.

15          Q     Do you know personally any of the  
16 pathologists at the Cleveland Clinic?

17          A     Do you mean in this case or in general?

18          Q     In general.

19          A     Yes.

20          Q     Which ones?

21          A     Certainly Bill Hart; Tom Gavin, who just  
22 died; Howard Levin; John Goldblum. I could see some  
23 right in front of me. The fellow who did all the GI  
24 pathology, Bob Petrus.

25                **MR. BONEZZI:** He's no longer there.

1                   **THE WITNESS:** Right. He went to AmeriPath.  
2                   A-m-e-r-i-P-a-t-h.

3                   Q        (By Ms. Nissenberg) What about Dr. Biscotti?  
4                   Do you know him?

5                   A        Not personally.

6                   Q        What do you know of him?

7                   A        Only by reputation that he's -- and from  
8                   readings, that he seems to be a very sound pathologist;  
9                   just a very thoughtful pathologist.

10                  Q        Do you know Dr. Gramlich?

11                  A        No.

12                  Q        Do you know any of the cytopathologists at  
13                  the Cleveland Clinic Foundation?

14                  A        Mention their names.

15                  Q        Dr. Brainard?

16                  A        No.

17                  Q        Do you know Dr. Prayson?

18                  A        Yes.

19                  Q        Outside of this case?

20                  A        Outside of this case.

21                  Q        Have you ever spoken to any of the  
22                  pathologists whom you've named with respect to any  
23                  aspect of this case?

24                  A        No.

25                  Q        Have you ever discussed any aspects of this

1 case with any other pathologists not at the Cleveland  
2 Clinic?

3 A Yes.

4 Q With whom?

5 A One would be Dr. Rex Bentley.

6 Q Rex Bentley, B-e-n-t-l-e-y?

7 A Correct.

8 Q Where is he?

9 A At Duke.

10 Q Okay.

11 A And one is Dr. Bill Xie.

12 Q Is that S-h-e-a?

13 A No, it's X-i-e.

14 Q X-i-e. And where is he?

15 A Also in my department.

16 Q All right. Anyone else?

17 A Probably -- well, make it no.

18 Q You were about to say you might have spoken  
19 with someone else?

20 A In the normal course, often as I teach and I  
21 have residents with me every day, material that comes  
22 through, we will often just show it. And so in that  
23 sense, it's spoken about, but not discussed.

24 Q Okay. And when did you have these  
25 conversations, first of all, with Dr. Bentley, if it



1 was more than one conversation, however many?

2 A It would be -- I was going to ask whenever I  
3 got the slides. It would be sometime around when I  
4 wrote the opinion letter.

5 Q Is that the only time you've talked to him  
6 about the case?

7 A Yes.

8 Q And what about Dr. Bill Xie?

9 A Same times.

10 Q Have you spoken to either of those  
11 pathologists since receiving additional material after  
12 you originally got the slides?

13 A Speak with them every single day.

14 Q About this case?

15 A No.

16 Q Okay. At the time you reviewed the slides,  
17 which we're going to get into, you had a partial amount  
18 of the depositions that had been taken, right? To  
19 review?

20 A I believe so, but I'm not sure.

21 Q Okay. Today we're going to learn all the  
22 opinions that you intend to offer at trial and I need  
23 to get your most complete testimony. So is there any  
24 reason why we can't get that testimony here today?

25 A No.

1           Q     Okay. When were you first contacted in this  
2 matter? Feel free if you need to look at any --  
3 anything.

4           A     I know it's been probably about a half a year  
5 ago, but I think Mr. Bonezzi could probably tell you.

6           Q     Well, I'm deposing you, though. He's not  
7 under oath.

8           A     I don't know. Let's say sometime in the  
9 range of a half a year ago.

10          Q     Okay. So are we dating this to the year  
11 2002?

12          A     I don't remember. I don't remember if it  
13 would be the latter part of 2001 or the beginning of  
14 2002.

15          Q     Within the last six months?

16          A     Roughly.

17          Q     And how were you contacted?

18          A     I think by telephone.

19          Q     Who called you?

20          A     I believe the paralegal for Mr. Bonezzi.

21          Q     What did she tell you in that conversation or  
22 ask you?

23          A     If -- she said that she has a case dealing  
24 with gynecologic pathology, and do I do cases like  
25 that, and it involves a question of ovary and a

1 question of endometriosis,

2 Q Anything else she told you in that  
3 conversation?

4 A No.

5 Q Okay. And what did you tell her?

6 A I'd be happy to take a look at the case.

7 Q And what was the next contact then you had  
8 with Mr. Bonezzi's firm?

9 A I have no idea.

10 Q Were materials sent to you to review?

11 A Sure.

12 Q Okay. And how did they arrive? By mail?

13 A By Federal Express.

14 Q Was there an accompanying letter?

15 A Yes.

16 Q Where is the letter?

17 A Actually, I may have it here.

18 Q Okay. Do you want to take a moment?

19 A Yeah.

20 Q I thought you had shown me your entire file.

21 A See if it's there, but I think I may have  
22 that letter.

23 Q I believe the two volumes that you showed me  
24 are simply the medical records for Ms. Huston.

25 A Right.

1 Q Oh, I'm sorry. There may be something else  
2 here.

3 A Let me see if I have it here. Yeah, here it  
4 is.

5 MR. BONEZZI: Let me see it first. Thank  
6 you.

7 Q (By Ms. Nissenberg) Thank you. All right.  
8 You've now handed me a letter dated March 6, 2002, in  
9 which Mr. Bonezzi thanks you for your willingness to  
10 review the enclosed slides and records on behalf of the  
11 Cleveland Clinic Foundation.

12 Is this the first communication that you  
13 had with Mr. Bonezzi, to the best of your knowledge?

14 A Is that letter from him?

15 Q Yes.

16 A Yeah, that would be the first -- that would  
17 be the first.

18 Q And approximately how long before you  
19 received this letter did you have the telephone  
20 conversation with his paralegal asking for -- whether  
21 or not you'd be willing to review the case?

22 A I can't be certain, but generally there's a  
23 lag of two weeks, sometimes three weeks, between when  
24 I'm first contacted and the material comes.

25 Q Had you spoken with Mr. Bonezzi by phone

1 prior to getting this letter?

2 A I suspect not.

3 Q At this time by this letter, it appears that  
4 Mr. Bonezzi sent you the slides from April 29, 1999,  
5 recuts as well as original slides, which I take to be  
6 the cytology slides.

7 Then he also sent you the slides from  
8 June 13, '00, recuts, which I believe to be the  
9 vaginal biopsy, and then slides from August 11,  
10 questionable as to whether they were original or not  
11 with respect to the small bowel excision.

12 Is that your understanding?

13 A Whatever is there would be correct.

14 Q And also he sent you the chart from  
15 Mrs. Huston from the Cleveland Clinic, and plaintiff  
16 expert reports authored by Dr. Tench and Dr. Weiss.  
17 And by the way, this is only one of Dr. Tench's  
18 reports.

19 Okay. Is there anything else that you  
20 received at this point in time that you don't think  
21 is conveyed in this letter but that you recall  
22 receiving?

23 A No.

24 Q Okay. And then after you received this  
25 material, did you then call Mr. Bonezzi or someone from

1 his office to convey your opinions?

2 A I don't remember.

3 Q How did you let them know what your opinions  
4 were?

5 A I don't remember if I called them or they  
6 called me.

7 Q Okay. With whatever occurred in the  
8 conversations, what transpired? What did you tell  
9 either Mr. Bonezzi or someone from his firm with  
10 respect to your review?

11 A That I finished.

12 Q And did you convey any of your opinions?

13 A I'm sure I did.

14 Q And what were your opinions at that time  
15 having reviewed, I assume, everything that was sent to  
16 you at that point in time?

17 A I frankly don't remember.

18 Q What was the next contact you had with anyone  
19 from Mr. Bonezzi's firm?

20 A You're asking, you know, for very specific  
21 times. I --

22 Q Well, it doesn't have to be an exact date.  
23 It could be --

24 A Without -- without trying to go through that  
25 or playing any games, it was -- somewhere there were

1 some discussions that the material needed to be  
2 reviewed and then Mr. Bonezzi came to my office so that  
3 we could talk about the case.

4 Q And when was that? it doesn't have to be the  
5 exact date.

6 MR. BONEZZI: it was in March.

7 THE WITNESS: Yeah. It would be sometime  
8 shortly after that letter.

9 Q (By Ms. Nissenberg) Okay.

10 A And it would be clearly about a couple weeks  
11 before my -- my actual report was due.

12 Q Okay. And the reports were due on April 1st,  
13 according to Mr. Bonezzi's letter. So we can assume it  
14 was sometime before April 1st, correct?

15 A Right.

16 Q Okay. At the time that you met with  
17 Mr. Bonezzi, what was the conversation? What opinions  
18 did you give him at that time?

19 A Basically, there was a question, was this an  
20 ovarian tumor? Let me strike that. Let me go back in  
21 a broader scope.

22 What was occurring in the ovary? There  
23 was no question there was a -- a large mass that had  
24 been removed from the ovary. And the question was:  
25 Was it benign? Was it malignant?

1           And my impression was that it was a benign  
2 tumor.

3           Then there was a question: What was  
4 occurring in the contralateral ovary? And my  
5 impression was that was endometriosis.

6           Q     And let me just stop you right there. By  
7 "contralateral," you're now referring to the right?

8           A     Correct.

9           Q     Okay. Continue.

10          A     And the all-important question was: What was  
11 the process that was occurring in this patient? And my  
12 response to Mr. Bonezzi was that the malignancy that  
13 caused this lady's death was not at all ovarian. It  
14 was actually a tumor arising in endometriosis.

15          And based upon the chart and the  
16 discussion, the depositions that I had, which gave  
17 firsthand opinions from the physicians in fact, it  
18 was my conclusion that she had endometriosis -- a  
19 tumor that arose in endometriosis, most likely in  
20 the cul-de-sac region.

21          Q     Now, you mentioned the depositions. By this  
22 point and by the point of time in which you wrote your  
23 report, you had only read four deposition; isn't that  
24 true?

25          A     That's correct.



1           Q     Okay. One of those was Dr. Brainard's,  
2     cytopathologist --

3           A     Correct.

4           Q     -- who didn't offer any opinion with respect  
5     to where the cancer was since she didn't think there  
6     was cancer; correct?

7           A     I don't remember fully what she said, but  
8     I'll take that as --

9           Q     Okay. Dr. Markman had basically no  
10    recollection of this patient and offered no opinions  
11    other than he was treating her for ovarian cancer at  
12    the time he saw her. Do you recall that?

13          A     Correct. The one that was critical was  
14    Alexander Kennedy, who was the physician who actually  
15    operated. And I was not relying on his opinion and  
16    conclusions. I was particularly interested in his  
17    observations during the operation, what he saw, what  
18    was -- you know, what occurred and where things were  
19    found.

20          Q     Okay. And it wasn't Dr. Kennedy's theory  
21    about the cancer arising in an endometriosis implant  
22    whether in or on the ovary or in the posterior  
23    cul-de-sac until after Mrs. Huston died; isn't that  
24    correct?

25          A     I believe that's what he said.

1           Q     Okay. Now, you had Mr. -- excuse me --  
2     Dr. Kennedy's theory in mind at the time you reviewed  
3     these slides, correct?

4           A     I think I have -- let me say -- you asked  
5     several parts in the question. It was my theory based  
6     upon reading this material that it came from the  
7     endometriosis before seeing Dr. Kennedy's impression.

8           Q     So you had not read Dr. Kennedy's theory  
9     before you considered that possibility, correct?

10          A     Came to the conclusion.

11          Q     And based on what?

12          A     Pardon?

13          Q     Based on what? What was the basis of your  
14     conclusion?

15          A     Having reviewed the slides and the medical  
16     record.

17          Q     Was it based at all on any of the deposition  
18     testimony you had read to that point in time?

19          A     No.

20          Q     And the slides that you had seen, you've  
21     never seen the original B6, have you?

22          A     No.

23          Q     In fact, Dr. Biscotti saw the original B6.  
24     Do you recall that?

25          A     That's what it said in the various

1 depositions.

2 Q And I take it that you've not had an  
3 opportunity to read all of the remaining deposition  
4 testimony that's been acquired in this case?

5 A No. Three of them came while I was away and  
6 they're now sitting on my desk to read.

7 Q Do you recall who those are?

8 A I wrote the names down, but --

9 MR. BONEZZI: They're Biscotti, Gramlich and  
10 Levin.

11 Q (By Ms. Nissenberg) So as you sit here  
12 today, Doctor, you've never read Dr. Biscotti's  
13 deposition transcript?

14 A Correct.

15 Q And what do you know about his opinions with  
16 respect to the slides in this case?

17 A I know what's been alleged as to what he has  
18 said from other people, but I've not read his  
19 deposition so I don't know exactly what he actually has  
20 said.

21 Q Well, I'd like to know your understanding of  
22 what Dr. Biscotti said.

23 MR. BONEZZI: Objection. You may answer if  
24 it has any basis on your opinions.

25 THE WITNESS: Let me make sure I understand

1 the objection.

2 MR. BONEZZI: It's okay. This is for the  
3 record.

4 THE WITNESS: Okay. No no. But you said  
5 if -- if it has any bearing on my opinion. His feeling  
6 doesn't have any bearing on my opinion, so am I  
7 supposed to answer or not answer?

8 Q (By Ms. Nissenberg) Well, I think that you  
9 did reference Dr. Biscotti before and what you think  
10 that he saw since he's the only one that's seen the  
11 original B6.

12 a But you asked me before what my opinion is  
13 and I'm not --

14 Q Right.

15 A -- and I'm not basing any of my opinions  
16 today on opinions of other people.

17 Q. Okay. So --

18 MR. BONEZZI: I just want to clarify. His  
19 opinion, he said before, was based upon his review of  
20 the slides and a review of the medical records. He did  
21 not include the depositions. It was after that that  
22 you asked him whether he had read any of the  
23 transcripts and that's where he was going into it.

24 THE WITNESS: I did say one piece. The  
25 portions that I based from the depositions were the

1 original observations that people made. Like Kennedy  
2 specifically spoke about during the operation what he  
3 saw and where things were. I took that as if that's  
4 part of the medical report. That's, you know, original  
5 observation. Anything else is opinion and that's, I  
6 know, not what I'm being asked to talk about today.  
7 I'm asked to speak about what my opinions are --

8 Q (By Ms. Nissenberg) Correct.

9 A -- based upon my personal observations.

10 Q Correct. Would it be important for you to  
11 know what Dr. Biscotti testified to since he saw the  
12 original of B6 and you have not?

13 A To the extent that I would like to know what  
14 he saw, where he saw it, what he was given. His  
15 conclusion of what were on the slides, to me, are  
16 irrelevant.

17 Q And what did Dr. Kennedy testify that  
18 Dr. Biscotti told him he found?

19 A Repeat the question.

20 Q What did Dr. Kennedy testify that  
21 Dr. Biscotti told him he had found?

22 A It's like the game of Telephone because  
23 there's a question whether a cancer was seen, whether a  
24 cancer was not seen, that is all very, very muddled and  
25 done on sort of half-truths and half-observations, And

1 that's why I just totally ignore that.

2 Q Dr. Kennedy testified that he went down to  
3 the lab --

4 A Right.

5 Q -- and Dr. Biscotti showed him the slides.

6 A Right.

7 Q And showed him specifically the original of  
8 B6, which you have not had an opportunity to see.

9 A Correct.

10 Q What did Dr. Kennedy testify that  
11 Dr. Biscotti showed him he had found on the original  
12 B6? You read Dr. Kennedy's deposition.

13 A I'm not asked to memorize this. We can --  
14 let's pull that out and we can pull it out right now.

15 Q Okay. If I represent to you that Dr. Kennedy  
16 testified that Dr. Biscotti identified a small focus of  
17 high-grade cancer in the original of B6, does that  
18 refresh your recollection?

19 A No. I'd like to *see* that as it's in the  
20 transcript.

21 Q Okay. I'll be happy to show it to you, if I  
22 brought it with.

23 I will direct your attention, Doctor, to  
24 Dr. Kennedy's transcript, beginning on page 59,  
25 where he testifies that he asked Dr. Biscotti to go

1 back and look at them again. So starting on line 20  
2 and then continuing through lines 15 on page 60.

3 MR. BONEZZI: Start before that if you feel  
4 it's significant.

5 Q (By Ms. Nissenberg) Yeah. Absolutely.

6 A Point out where I'm supposed to start.

7 Q Starting with line 20 on page 59 --

8 A Okay.

9 Q -- and continuing down to about 12, or you  
10 can read before that or after that, as Bill said.

11 A Okay. I'm going to take a few minutes.

12 Q No problem.

13 (WITNESS REVIEWS DOCUMENT.)

14 A It's not really clear what slides they're  
15 actually looking at.

16 Q Feel free to read the pages before, if it  
17 will elucidate which slides he's referring to.

18 A So it's the 1999 slides that are being  
19 reviewed.

20 Q Correct. I will represent to you that he is  
21 referring to the original of B6.

22 A This is in the deposition where Dr. Kennedy  
23 is saying that he has spoken to Dr. Biscotti. And so  
24 in a sense, it's like a hearsay for me because I don't  
25 know this is actually what the conversation truly was.

1                   But he represents that he asked Biscotti  
2                   to review the slides and Biscotti said that there  
3                   was a small area, extremely limited area, that he  
4                   interpreted to be a high-grade cancer.

5           Q       Right. Do you recall reading that when you  
6           read Dr. Kennedy's transcript?

7           A       Yes. And this was from the right ovary.

8           Q       Correct. Do you recall reading that now?

9           A       Yes.

10          Q       Okay.

11                               (DISCUSSION OFF THE RECORD.)

12          Q       Is there anything else about Dr. Kennedy's  
13          transcript that we haven't -- well, strike that.

14                   At some point, you requested additional  
15          material from Mr. Bonezzi's firm; is that correct?

16          A       I'm not sure that I did.

17          Q       Well, I'm looking at a fax cover page from  
18          someone named Marie Brettelle --

19          A       Right.

20          Q       -- saying, Enclosed please find a copy of the  
21          complaint you requested.

22          A       Okay.

23          Q       Did you request a copy of the lawsuit?

24          A       Sure. I always like to see the complaint.

25          Q       Okay. And by her statement, *I was able only*



1     *to find on -- I think she means one -- lab value of*  
2     *CA125 which was done August 7, '00.*

3             Is that something that you also requested,  
4     whether or not there were any CA125 levels obtained  
5     for this patient?

6             A     Probably.

7             Q     Did you actually have a conversation with  
8     this Marie or did you write your request to the firm?

9             A     No, it would be on the telephone.

10            Q     Okay. Is there anything else you requested?

11            A     Not that I remember.

12            Q     Okay. And she then sent you a copy of the  
13     CA125, which we know was well after the diagnosis was  
14     eventually made; correct?

15            A     I presume.

16            Q     Well, do you know when the diagnosis was made  
17     for this patient?

18            A     April 1999.

19            Q     That was the first diagnosis. The diagnosis  
20     that this patient indeed had cancer, do you know when  
21     that diagnosis was made?

22            A     I believe that was in June of 2000.

23            Q     And that's when Mrs. Huston had her vaginal  
24     biopsy?

25            A     Correct.

1           Q     Okay, Was there anything special -- I assume  
2     that you reviewed the complaint that was sent to you by  
3     Miss Brettelle?

4           A     Correct.

5           Q     Was there anything special in this complaint  
6     that stands out to you as you sit here today?

7           A     No.

8           Q     Anything that you relied on for any of your  
9     opinions?

10          A     No. I just use that always to double-check  
11     against dates and time.

12          Q     Okay. By the way, we're going to attach your  
13     CV as Deposition Exhibit 1.

14          A     Okay.

15          Q     And the letter that we referenced dated --  
16     sorry. I can't see the date. March --

17          A     March 6.

18          Q     -- thank you -- March 6 will be Exhibit 2 to  
19     the deposition.

20                 Did you ever have any --

21                 **MR. BONEZZI:** How are you going to do that?  
22     Because I don't want the original taken.

23                 **MS. NISSENBERG:** Okay, Well, do you want to  
24     send a copy to the court reporter?

25                 **MR. BONEZZI:** I would do that. Maybe we'll

1 have Dr. Robboy do it. I won't even be back until next  
2 week.

3 Q (By Ms. Nissenberg) Okay. Is that possible  
4 for someone in your office to make a copy?

5 A Yes.

6 MR. BONEZZI: I'd rather do it that way.

7 Q (By Ms. Nissenberg) Okay. Doctor, at the  
8 time that you wrote your opinion letter which -- I'm  
9 looking for the date on it -- March 28, 2002, you had  
10 read the slides except for the original of B6, correct?

11 A Correct.

12 Q And you had read -- you had read the two  
13 pelvic wash slides from 1999, correct?

14 A One pelvic wash and one cell block.

15 Q Okay. And the cell block was from the pelvic  
16 wash, was it not?

17 A Correct.

18 Q Okay. And then you read the slides from  
19 2000, which would be the vaginal biopsy and the small  
20 bowel excision; correct?

21 A Say that again.

22 Q Which would be the vaginal biopsy --

23 A Yes.

24 Q -- slides and the small bowel excision?

25 A Yes.

1 Q Those are the 2000 slides --

2 A Correct.

3 Q -- that you referenced?

4 You had read the medical records and then  
5 the depositions of Dr. Brainard, Dr. Kennedy,  
6 Dr. Markman and Dr. Prayson; correct?

7 A Correct.

8 Q Is there anything else that you relied on or  
9 reviewed in forming any of the opinions --

10 A No.

11 Q -- that are in your letter?

12 Did you have any conversations with any  
13 physicians at the Cleveland Clinic regarding this  
14 patient?

15 A No.

16 Q Pathologists.

17 What subsequent depositions have you read?  
18 I know -- I think Mr. Bonezzi said you have not read  
19 Dr. Gramlich's deposition, Dr. Levin's and  
20 Dr. Bonezzi. Have you read everyone else's?

21 **MR. BONEZZI:** Dr. Biscotti.

22 Q (By Ms. Nissenberg) Dr. Biscotti.

23 A No. The four I read are mentioned in my  
24 report.

25 Q Okay, And when did you receive the expert

1 opinions of the plaintiff's experts? Was that  
2 accompanying the March 6, 2002 letter?

3 A I don't remember when I -- this letter was  
4 written while I was traveling and I know that I carried  
5 a number of these depositions with me and was reading  
6 them then. So I can't tell you the exact date and  
7 time, but they were all close within that period.

8 Q Have you seen Dr. Tench's supplemental  
9 report?

10 A I've seen it without having read it.  
11 Mr. Bonezzi told me that it exists and I have not had a  
12 chance to sit down and read it carefully.

13 Q Do you know either of those physicians,  
14 Dr. Tench or Dr. Weiss?

15 A No.

16 Q Would you agree that Memorial Sloan-Kettering  
17 has a very reputable training program for pathologists?

18 You're not going to disagree with that,  
19 are you?

20 A I might. It's -- it's a good program,

21 Q Are you aware, as you sit here today, that  
22 Dr. Biscotti feels that when he looked at the vaginal  
23 biopsy in the year 2000 and then looked back at the  
24 original B6, that both showed the same process?

25 A There have been some comments in the chart,

1 but I don't want to say whether that is his feeling or  
2 not unless I hear it really or read it in a deposition.

3 Q Okay. And if you read it in a deposition,  
4 would that have any bearing on your opinions?

5 A No.

6 Q Are you aware, as you sit here today, that  
7 Dr. Biscotti testified that when he looked at the  
8 vaginal biopsy of 2000 and looked at the original B6,  
9 that both show adenosquamous carcinoma?

10 A Are you saying that's what he says?

11 Q That's what I'm saying. Are you aware of  
12 that?

13 A No.

14 Q Would that have any bearing on your opinion?

15 A No.

16 Q What would be the significance to you as a  
17 pathologist if both the vaginal biopsy and the original  
18 B6 show not only the same process, but both show the  
19 same adenosquamous carcinoma?

20 A I would say it would be significant, but  
21 given the material that I've seen, and I've seen the  
22 recuts of the B6, I would say there's no adenosquamous  
23 carcinoma present there.

24 Q Are you aware, as you sit here today, that  
25 Dr. Biscotti testified in his deposition that the recut

1           A     No.

2           Q     Okay.  You believe that cancer arising in an  
3     endometriosis implant that basically takes over the  
4     ovary, as in this case where there was almost no  
5     ovarian tissue seen at pathology -- do you believe that  
6     that would be a primary ovarian or would that be cancer  
7     in the endometriosis implant?  You would agree there's  
8     a distinction?

9           A     Not really.

10          Q     \*So your testimony is that primarily ovarian  
11     adenosquamous and adenosquamous of an endometriosis  
12     implant are one and the same?

13          A     In the ovary --

14                **MR. BONEZZI:**  Excuse me.  Would you read that  
15     back, please.

16                               (\*READ BACK.)

17                **MR. BONEZZI:**  Thank you.

18                **THE WITNESS:**  Can you repeat that again.  I  
19     have to hear it slowly.

20                               (\*READ BACK.)

21          Q     (By Ms. Nissenberg)  That was a follow-up to  
22     your previous testimony, it wouldn't make any  
23     difference.

24          A     What I'd like now is to restate that to make  
25     sure I understand it.

1 Q Thank you.

2 A We're talking about a tumor in the ovary, and  
3 your question is: Is an adenosquamous carcinoma that  
4 has arisen in the ovary the same as an adenosquamous  
5 carcinoma that's associated with endometriosis that is  
6 found only in the ovary?

7 Q Not only in the ovary. I didn't qualify  
8 that.

9 A But arising in the ovary.

10 Q \*Right. Primary ovarian versus cancer that  
11 is in the ovary, but in an endometriosis implant.

12 A In the ovary.

13 Q Yes.

14 A I would say they would be the same.

15 MR. BONEZZI: Just read that last question  
16 back for me, please. The answer I got.

17 (\*READ BACK.)

18 MR. BONEZZI: Got it. Thank you.

19 MS. NISSENBERG: I forgot where I was going  
20 with that with, all the questions and answers back and  
21 forth.

22 MR. BONEZZI: I'm sorry.

23 MS. NISSENBERG: That's okay.

24 Q (By Ms. Nissenberg) Do you recall that the B  
25 specimen -- and by the way, looking at the actual



1 reports, do you recall that the description of B  
2 contains references to both the right and left in the  
3 pathology report?

4 A I do remember there was some confusion and  
5 that's why I spent a lot of time trying to decide what  
6 was the left side and what was the right side.

7 Q And basically A represents the left?

8 A The left.

9 Q And B represents the right, correct?

10 A Correct.

11 Q And in fact, the frozen section was taken  
12 from the left, which was the larger mass?

13 A That's correct.

14 Q But the adhesions, the mass being completely  
15 adhered to the side wall and probably to the top of the  
16 vagina, was on the right side; isn't that correct?

17 MR. BONEZZI: Objection. Go ahead and answer  
18 it.

19 THE WITNESS: No.

20 Q (By Ms. Nissenberg) That's not correct?

21 A That's not correct.

22 Q Okay. In what way is that not correct?

23 A The vagina was never mentioned.

24 Q Okay. The --

25 A It was -- the adhesions were to the side

1 wall.

2 Q Okay. And that side was not sampled during  
3 frozen section, correct?

4 A That's correct.

5 Q And microscopic sections of the mass on the  
6 right were not obtained, even though it was the side  
7 with the adhesions; correct?

8 A That's my understanding.

9 Q Okay. And that's something that really  
10 should be done; don't you agree?

11 A No, I don't agree. I disagree.

12 Q Disagree? Wouldn't it be necessary to obtain  
13 those microscopic sections to see whether or not  
14 there's tumor involved causing the adhesions as opposed  
15 to a chemical reaction?

16 A No.

17 Q Would you agree that it is not good medical  
18 practice to be missing a surgical specimen slide that  
19 has been alleged by deposition testimony to contain a  
20 small focus of high-grade cancer in a patient?

21 MR. BONEZZI: Objection to the form. Go  
22 ahead and answer.

23 THE WITNESS: I was going to say, you're  
24 asking multiple pieces there. I'd rather you break  
25 that down into simple pieces.

1           Q       (By Ms. Nissenberg) Okay. Would you say  
2       that it is good medical practice for a slide that has  
3       been described by the gyn pathologist at the Cleveland  
4       Clinic as a key slide to be missing and not locatable?

5           **MR. BONEZZI:** Objection. Go ahead and  
6       answer.

7           **THE WITNESS:** It's a -- it's a compound  
8       question. I'd rather not answer it that way.

9           Q       (By Ms. Nissenberg) Okay. Answer it the way  
10      you'd like to answer it then.

11          A       Okay. One always likes to find slides in the  
12      files, but it's just a matter of course that, you know,  
13      a certain number of slides are going to end up not  
14      being in the correct place for any number of reasons.

15                 Your question almost implied as if someone  
16      was purposely removing or moving or hiding slides,  
17      and that's not the intent. You'd like to find the  
18      slides, but very often, they're not there.

19          Q       And what is your understanding of where the  
20      slide disappeared to?

21          A       I have no idea.

22          Q       Well, you're saying that this is not the  
23      intent as though you know what the intent was, if there  
24      was an intent.

25          A       Very often, if there's a slide that's been

1 shown around, that may get displaced. Not uncommonly  
2 for teaching purposes, a slide will be held and then  
3 later it's misplaced. That's just a common occurrence  
4 in any medical institution.

5 Q Are you aware that this slide has been  
6 missing since it was shown by Dr. Biscotti at a  
7 pathology conference?

8 MR. BONEZZI: Objection. That's not correct.

9 MS. NISSENBERG: I think that is correct.

10 MR. BONEZZI: No, it is not. That is not his  
11 testimony.

12 MS. NISSENBERG: I believe he testified that  
13 he took a photograph of only the recut of B6 because it  
14 was missing when he went to make the photographs for  
15 the conference.

16 MR. BONEZZI: His testimony was that he  
17 singularly removed B6, placed it into the mailbox of  
18 Richard Prayson, MD.

19 MS. NISSENBERG: Right.

20 MR. BONEZZI: He did not have it at the time  
21 of the conference.

22 MS. NISSENBERG: That's exactly what I said.

23 MR. BONEZZI: Well, you're implying that it  
24 was shown at the conference and then became misplaced.

25 MS. NISSENBERG: No. I'm sorry. What I said

1 was he had to take a photograph of the recut of B6 for  
2 the conference.

3 **THE WITNESS:** I've gotten lost in the  
4 question.

5 Q (By Ms. Nissenberg) Okay. Do you have any  
6 knowledge -- well, strike that.

7 Would you agree or disagree that it is not  
8 good medical practice for a key pathology slide to  
9 be missing?

10 **MR. BONEZZI:** Objection. Go ahead and answer  
11 it.

12 Q (By Ms. Nissenberg) It s just a yes or no  
13 question.

14 **MR. BONEZZI:** You may answer it the way you  
15 feel is appropriate.

16 **THE WITNESS:** It's like a God-and-country  
17 type of question. You hope that all the material is  
18 going to be in the file, and sometimes it's not.  
19 Obviously, if it's not, it's not the best practice, but  
20 it's certainly not anything that's unusual.

21 Q (By Ms. Nissenberg) Where is *Here the*  
22 *medical records state that a review of the slides from*  
23 *2000 were questionable for malignancy?*

24 Do you recall reading that in the medical  
25 records?

1           A    A slide from 2000?

2           Q    I'm sorry. The slides from '99.

3           A    There have been commentaries all through the  
4 chart on that. The reality is I've asked to see the  
5 slides and just based on my experience, given the  
6 number of B6 slides and the other slides, I see no  
7 tumor. And I would be very surprised if a slide that  
8 would be a fraction of a millimeter away would have any  
9 obvious tumors. So I tend to discount all of that  
10 discussion.

11          Q    Well, you would agree that just because  
12 slides are numbered B1 through 6, it doesn't mean that  
13 they came actually one after the other. When the  
14 dermatome makes the slides, some tissue is not placed  
15 in it; isn't that true?

16          A    That's not what we're talking about. Your  
17 question is Slides B1, B2, B3. They can come from very  
18 different areas in the tissue.

19                   But you were talking about the recuts.  
20 And the recuts are going to be a fraction of a  
21 millimeter -- most likely it would be somewhere  
22 within the range of 20 to 30 microns deeper than the  
23 original.

24                   And you're not going to have a flagrant  
25 cancer in one section and nothing in the next. And

1 given that I've seen the next, I don't have the  
2 concern that you're raising.

3 Q Well, you're referring to it as a flagrant  
4 cancer. If in fact there was -- there were cells  
5 suspicious for malignancy or some other reason to  
6 suspect malignancy, not flagrant as you describe it,  
7 because in fact Dr. Biscotti has said that the B6  
8 original was much more dramatic than the atypia seen in  
9 the first recut, then you could see the second and  
10 third generation recuts of B6 not showing any atypia;  
11 isn't that true?

12 A Conceivable, but there's a big difference  
13 between atypia and cancer, so...

14 Q What is your understanding of those  
15 references in the chart made by Dr. Cipoletti, the  
16 resident for Dr. Kennedy, that was signed by  
17 Dr. Kennedy, as well as the entry by Dr. Schwartz, that  
18 the slides revealed questionable for malignancy?

19 A I will give you an example which will answer  
20 my question.

21 More than once I have written as one of my  
22 own reports that the patient does not have cancer.  
23 A clinician will come down and say, Couldn't this be  
24 a cancer?

25 And I will say, No.

1           The clinician will say: Are you sure it  
2       can't be cancer?

3           And I will say, No.

4           Three pages down in the chart, they'll say  
5       he went to see the pathologist who said it might be  
6       cancer.

7           Six pages down, someone else will  
8       interpret his material and say it is likely to be  
9       cancer.

10          And nine pages down, it will say it's a --  
11       it's a cancer.

12          A lot of what's in the chart is the game  
13       of Telephone.

14       Q     In fact, Dr. Kennedy was the physician who  
15       knew that the April '99 scenario and the June 2000  
16       scenario didn't comport with one another and so went to  
17       do an investigation; isn't that true?

18       A     I believe so.

19       Q     And he knew that the mass that was present in  
20       2000 was not going to be explained by what the  
21       pathology reports from 1999 showed; isn't that true?

22           **MR. BONEZZI:** Objection.

23           **THE WITNESS:** He knew that there was a  
24       discrepancy, but the discrepancy is not answered by the  
25       lack of a cancer in the ovary, nor is it answered by



1 the descriptions of the atypia and the other things  
2 that have been alleged in the ovary. That still does  
3 not explain the type of recurrence this patient has.

4 Q (By Mr. Nissenberg) Are you aware why  
5 there's no addendum pathology report from 1999 after  
6 Dr. Biscotti came up with his findings in July of 2000  
7 or June of 2000?

8 A Are you asking for supposition or fact?

9 Q For a fact, if you know why there's no  
10 addendum report.

11 A No fact. I can only surmise.

12 Q Okay. And this patient was treated for  
13 ovarian carcinoma after July -- after early July of  
14 2000, correct?

15 A Incorrectly treated, but yes, treated.

16 Q She was treated with a working clinical  
17 diagnosis of ovarian carcinoma, correct?

18 A Yes. The key word used "working."

19 Q Okay. But the treatment for malignancy in an  
20 endometriosis implant, whether in the posterior  
21 cul-de-sac or elsewhere, would be the same; would it  
22 not? If you know.

23 A Let's dissect the question. Let me put it --  
24 the way you're asking the question, it's unanswerable.  
25 You'll have to define it with more specificity.

1           Q     If a patient were diagnosed with malignancy  
2     in an endometriosis implant in the posterior  
3     cul-de-sac, are you aware of what the gold standard  
4     treatment for that would be or would have been in the  
5     year 2000?

6           A     I can surmise, but basically I would leave  
7     that decision to the treating gynecologic oncologist.

8           Q     Okay. Fine. The final report from the  
9     vaginal biopsy signed out by Dr. Levin I believe stated  
10    that it was compatible with a tumor of endocervical  
11    origin. Do you recall seeing that?

12          A     Yes.

13          Q     Okay. Now, you're not aware of what  
14    Dr. Levin testified to in his deposition; is that  
15    correct?

16          A     I have not read the deposition.

17          Q     Do you have any information as to how he  
18    testified?

19          A     Other than what's been in all of the material  
20    I read prior to the material that I've not read.

21          Q     I'm sorry. Could you repeat that?

22          A     There's many -- there's many discussions  
23    throughout the record as to what Dr. Levin and what all  
24    the various physicians have seen.

25                So my total knowledge is based upon those

1     comments. My knowledge is not based upon what  
2     Dr. Levin has actually said in his deposition  
3     because I've not read his deposition yet.

4           Q     Okay. I will represent to you that Dr. Levin  
5     in his deposition testified that it was also compatible  
6     with a tumor of other gyn origin besides endocervical.

7           MR. BONEZZI: Excuse me. He testified that  
8     it could be, because you asked him specifically could  
9     it be compatible with anything else. He said --

10          MS. NISSENBERG: I think I specified  
11     actually, ovarian, and I think I specified with an  
12     endometriosis implant. And he said it could be  
13     compatible with both.

14          MR. BONEZZI: Yes, it could be is the  
15     operative term.

16          Q     (By Ms. Nissenberg) Were you aware of that?

17          A     No, but it's -- it's reasonable.

18          Q     Were you aware that in reviewing -- I'm sure  
19     that when you reviewed the pathology report, you noted  
20     that the endocervix was examined very carefully and  
21     that no cancer was found in that?

22          A     Yes, that's correct.

23          Q     When is the last time you personally  
24     diagnosed malignant transformation of endometriosis?

25          A     Certainly within the last month,

1 Q It's a fairly rare entity, isn't it?

2 A Yes.

3 Q Approximately one percent of malignancies in  
4 endometriosis -- excuse me -- in the ovarian cancers  
5 are caused by malignant transformation; isn't that  
6 true?

7 A Say that again.

8 Q One percent?

9 A You said some other material.

10 Q One percent of those cancers, cancers of the  
11 gyn tract, one percent is what is represented by  
12 malignant transformation of endometriosis; isn't that  
13 true?

14 A You garbled parts.

15 Q Do you want her to read it back?

16 A No, because you've -- you phrase it in such  
17 a way that you at times added material and sometimes  
18 you subtracted it.

19 Q Okay.

20 MR. BONEZZI: What he's saying is that the  
21 first time you said it you used the term "ovarian."

22 MS. NISSENBERG: Okay.

23 MR. BONEZZI: The second time, you used the  
24 term gyn --

25 Q '(By Ms. Nissenberg) All right. Gyn

1 malignancies. Okay.

2 A One percent of cases of endometriosis will  
3 have malignant transformation, roughly.

4 Q One percent?

5 A Roughly.

6 Q How often have you made that diagnosis? You  
7 said you made one within the last month. When was the  
8 last time before that?

9 A I've actually made that reasonably often  
10 because I'm in a referral institution and, as you will  
11 see in my CV, one of the big studies I did was to  
12 review all the cases of malignant transformation at  
13 Duke.

14 Q Okay. But across the broad spectrum of  
15 medical institutions and private physicians' offices,  
16 it's about one percent. It's fairly uncommon; is that  
17 true?

18 A Uncommon.

19 Q Have you seen anyone's opinion or are you  
20 aware of anyone's opinion that the morphology of B6 and  
21 the vaginal biopsy and the small bowel excision are the  
22 same?

23 A I've not seen anyone directly say B6 is the  
24 same as the vaginal biopsy.

25 Q If hypothetically you were to see that

1 Dr. Biscotti, who iooked at the original B6, believes  
2 that the morphology of B6 and the vaginal biopsy were  
3 the same, would that have any significance to you as a  
4 pathologist?

5 A As an expert, it would not, because I would  
6 say based on my experience, seeing the area that is so  
7 close by, I think it's going to be impossible to say --

8 Q Okay. I don't want to cut you off, but  
9 you're talking about what you saw in the recut.

10 A Right.

11 Q I'm asking you hypothetically if Dr. Biscotti  
12 testified that the original B6 that he has seen with  
13 his own eyes --

14 A Right.

15 Q -- and the vaginal biopsy, which you saw at  
16 the same time, compared them, that they showed the same  
17 morphology, would that have any significance to you as  
18 a pathologist?

19 MR. BONEZZI: Objection. Go ahead and  
20 answer.

21 THE WITNESS: My comment is the same because  
22 I would want to sit and know what -- what actually he  
23 saw. If you see one cell or group of cells that looks  
24 identical, that's not the same as if you see a massive  
25 tumor and really are able to compare a whole mass to

1     what's present elsewhere.  So I would say it's  
2     inadequate.

3           Q     (By Ms. Nissenberg)  Do you believe that if  
4     the morphology were the same, hypothetically, between  
5     B6 original, vaginal biopsy, and the small bowel  
6     excision, that it would tend to show the same origin  
7     for the cancer?

8           A     In this case, no.

9           Q     In general?

10          A     Possibly.  I mean, if you think -- if you  
11     think that the tumor, and that's the recurrence, has  
12     come from the site that you're talking, in the ovary,  
13     you can say sure.

14                  Given that we're dealing with  
15     endometriosis and that there's evidence of  
16     endometriosis elsewhere and thicker, I would say  
17     probably not.

18          Q     Wouldn't you agree that cancer in an  
19     endometriosis implant tends to recur in the vaginal  
20     cuff?  If you know.

21          A     Not particularly.  It's one of the sites  
22     where it can recur, but certainly -- the way you  
23     phrased it, it's certainly not the preference.

24          Q     Where does it generally recur?

25          A     Most of the cases that I've seen have been

1 more generalized in the abdominal cavity.

2 Q Outside of the peritoneum?

3 A No. Inside. Inside the abdominal cavity.

4 Q Okay. And in what -- what site?

5 A Most commonly, somewhere in the omentum or  
6 somewhere in the region of the posterior cul-de-sac,  
7 somewhere along the ligaments, the uterosacral ligament  
8 region. Usually when they recur, there will be a sort  
9 of mass lesion of the peritoneum.

10 Q How often do they recur in the vaginal cuff?

11 A As in this patient, I think pretty unusual.

12 Q And why is that?

13 A When they recur, they tend to be small  
14 nodules. This is one that's a 6-centimeter nodule in  
15 the vagina that's eroded the bone, grown up to cause  
16 hydronephrosis. That's not really compatible with an  
17 ovarian -- you know, endometriotic tumor of the ovary  
18 that's implanted. They don't --

19 Q Which brings me to my next question.  
20 Wouldn't you agree that in forming your expert  
21 opinions, it would be helpful to have the accurate  
22 medical history for the patient?

23 A It always is.

24 Q In your report, you refer to the revisit of  
25 Mrs. Huston to the Cleveland Clinic in June of 2000



1 with ureteral obstruction. That's not in fact the  
2 case, is it?

3 A My understanding was she had hydronephrosis.

4 Q In June of 2000?

5 A If I wrote it, that's what I thought.

6 Q It would be important to have an accurate  
7 history, though, wouldn't it?

8 A Sure. Generally.

9 Q If hypothetically the pelvic wash slides,  
10 either of them or both, showed cells suspicious for  
11 malignancy, of what significance would that be to you  
12 as a pathologist in this setting?

13 A Depends what you would call -- what were the  
14 words you used again? Suspicious, It depends --  
15 depends how the words are used.

16 Q What is the meaning in your mind when I say  
17 "cells suspicious for malignancy"? Have you ever used  
18 that language in reporting out pelvic wash slides?

19 A I have a range of words which convey the --  
20 which convey the concern that I have.

21 Q Okay. Have you ever used that terminology?

22 A Suspicious for malignancies? Yes, certainly.

23 Q Okay. And what does that mean to you? Is  
24 that on a scale of --

25 A That would be on a higher-end scale.

1           Q     Okay. So you're looking, for example, at  
2 precursor lesions or precursors to cancer to a definite  
3 diagnosis of malignancy and it's somewhere in that  
4 scale?

5           A     Not only precursor, but sometimes that  
6 someone has missed something.

7           Q     Okay. So then with your understanding of how  
8 you use the terminology suspicious for malignancy with  
9 the cells, if hypothetically the pelvic wash slides,  
10 either of them or both, had shown cells suspicious for  
11 malignancy, of what significance would that be to you  
12 as a pathologist in this setting for this patient?

13          A     That you'd have to worry that the patient has  
14 a cancer somewhere.

15          Q     Then further in -- I'm sorry. Did you have  
16 more to say?

17          A     Yeah. It could mean that something else was  
18 present. It could mean potentially there's some -- you  
19 know, a reactive process that is not cancerous.

20          Q     Okay. And you're referring to reactive  
21 mesothelial cells in the pelvic wash?

22          A     No. When you have reactive mesothelial  
23 cells, those I tend to discount.

24          Q     Right. You would expect to see those for  
25 this patient, wouldn't you?

1           A     Yeah. With this size sheer mass.

2           Q     Okay. By the way, when you looked at the  
3     pelvic wash slides, what did you see besides reactive  
4     mesothelial cells, if in fact you saw those?

5           A     The initial time I looked at them, I saw  
6     reactive mesothelial cells in the pelvic wash, and in  
7     the cell block, there were several clusters of cells  
8     that were slightly different that initially I passed  
9     off as being nothing more than reactive.

10          Q     And by your answer, I take it that you had a  
11     second look?

12          A     Oh, yes.

13          Q     And what did you see then?

14          A     In a case like this, when you're looking for  
15     an answer, and that's when you go back and review and  
16     re-review and especially 2s this -- this is the time  
17     when you read various depositions and people have their  
18     thoughts as to what's been seen and you go back and see  
19     it a third time, fourth time, fifth time.

20          Q     Right.

21          A     There are several clusters of cells only in  
22     the cell block, not in the pelvic wash --

23          Q     But -- you mean ThinPrep®? The cell block  
24     was from the pelvic wash and the ThinPrep® was from the  
25     pelvic wash, so I just want to make sure your testimony

1 reads clearly. There was one of each slide made. So  
2 you said in the cell block only, not --

3 A There's a -- there's a spun-down tissue.  
4 There's one that was centrifuged.

5 Q I'm sorry?

6 A There's one that was centrifuged, which is an  
7 H and E section.

8 Q I'm sorry. I'm not getting what you're  
9 saying.

10 A There's two different techniques. You have  
11 the fluid --

12 Q Right.

1.3 A -- and I think the ThinPrep® is just the  
14 ordinary fluid.

15 Q Okay.

16 A And then some of the fluid is spun down and  
17 processed as if it's a histological section.

18 Q Into making the cell block?

19 A Cell block.

20 Q Okay. So now --

21 A And it's a cell block. One is entirely  
22 negative. The other has some of the atypical cells  
23 that initially I passed off as -- well, I just passed  
24 off as slightly atypical, without much concern.

25 Q Okay.

1           A     And then on review and re-review, saw that  
2     there were many clusters of cells that had a higher  
3     degree of suspicion of being atypical.

4           Q     Anything else?

5           A     No.

6           Q     They were suspicious for being atypical or  
7     they were atypical?

8           A     They were atypical.

9           Q     So they had a higher degree of suspicion for  
10    what?   Possible malignancy?

11           MR. BONEZZI:   Objection.   Go ahead and  
12    answer.

13           THE WITNESS:   If I had been a treating  
14    pathologist, the initial pathologist, I would have  
15    looked at the slides and said, At most, they're  
16    slightly atypical, and that would be the end.   Probably  
17    would not even have commented on them.

18                   Given this case where we're going back  
19    retrospectively and trying to find clues to what has  
20    occurred in Mrs. Huston -- Huston, and especially  
21    after seeing all the discussions and the various  
22    reports, then go back and look and say, Could these  
23    cells be related to the cancer that this lady, you  
24    know, later received?

25                   So there are a few cells with mitoses and

1 some that are slightly more atypical.

2 Q (By Ms. Nissenberg) Are you aware, as you  
3 sit here today, how Dr. Gramlich testified in his  
4 deposition last Tuesday?

5 A No.

6 MR. BONEZZI: Objection.

7 THE WITNESS: No.

8 Q (By Ms. Nissenberg) Okay. Dr. Gramlich, I  
9 will represent to you, testified that the first time he  
10 looked at the pelvic wash, he agreed with Dr. Brainard,  
11 the cytopathologist, that they were negative, I think  
12 was the word he used.

13 A Right.

14 Q But on re-looking at them prior to his  
15 deposition, he realized now that there were atypical  
16 cells, atypical cell clusters. He specifically stated  
17 that the fact of the clustering was significant, and  
18 irregular nuclei on the cells. And so he didn't think  
19 that the original reading was correct.

20 Would you agree with that?

21 MR. BONEZZI: Objection to the question. Go  
22 ahead and answer.

23 Q (By Ms. Nissenberg) I don't know how long  
24 ago it was since you looked at the pelvic wash slides.

25 A Sometime ago, but no.

1 Q Okay.

2 A The issue that you're raising is: With a  
3 retrospective scope, can you identify lesions? And you  
4 know, with this comment, I mean, if that's what he  
5 said, I wouldn't disagree with it. Is that the way you  
6 would practice medicine? No.

7 Q If a patient had -- first of all, were you  
8 able to make any determination whether the types of  
9 cells you were seeing, other than the reactive  
10 mesothelial cells, in the pelvic wash were  
11 morphologically similar to any of the subsequent slides  
12 that you looked at, such as the vaginal biopsy?

13 A I would never even try to do that with a --  
14 with a cell block.

15 Q Okay. Assuming that the pelvic wash  
16 hypothetically was suspicious for malignancy, but the  
17 surgical pathology slides were negative, would you as a  
18 pathologist recommend that the surgeon look for a  
19 primary source somewhere other than where the specimens  
20 came from that you're examining?

21 MR. BONEZZI: Objection. Go ahead and  
22 answer,

23 Q (By Ms. Nissenberg) Did you understand my  
24 question?

25 A No, I understand your question.

1 Q I thought so.

2 MR. BONEZZI: I didn't.

3 THE WITNESS: Let me take this into my  
4 routine daily practice.

5 Q (By Ms. Nissenberg) Okay.

6 A If I see something that's atypical and if I  
7 think that there is a cancer that is lurking somewhere,  
8 I will either make a very specific note, and sometimes  
9 with quite an emphatic note in the record, or I will  
10 call the clinician, and then say that, Here is a sixth  
11 sense; I am worried that there is something present  
12 that we're just not seeing.

13 You know, we may have a little clue, but  
14 it's something that we all need to put our heads  
15 together and rethink the entire -- the entire  
16 situation.

17 On the other hand, on a case like this, if  
18 I had seen these slides, I would have passed them  
19 off. It's not something that would have alerted me  
20 to say, Here's something we need to go back and  
21 re-examine.

22 Q But in your practice, if you're convinced  
23 that the pelvic wash slides are showing cells  
24 suspicious for malignancy, and yet the surgical  
25 specimens that you've been given don't show any



1 malignancy to the best of your knowledge, you would  
2 make some recommendations to the treating surgeon in  
3 terms of "we need to do something"?

4 A Again, it depends on what you mean by  
5 "suspicious for," because that's such a broad scope.

6 All I can say is on an individual case, if  
7 it's something that I thought had a reasonable  
8 chance of finding a malignancy, then I would -- as I  
9 do, I call the clinician and sometimes we'll discuss  
10 it to say, you may want to think about something.

11 But quite often on something like this, I  
12 would have passed this off and just said, at most,  
13 you know, slight atypia, and ignored it and that  
14 would have been the end.

15 Q When do you think Mrs. Huston first had  
16 cancer?

17 A Sometime before 1999.

18 Q Since you're convinced the cancer she had was  
19 in an endometriosis implant in the posterior  
20 cul-de-sac --

21 A Or somewhere in that region.

22 Q -- or somewhere in that region, what stage,  
23 if you can stage it, would the cancer have been by  
24 April 29, 1999?

25 A Actually, there's no staging system for

1 endometrial -- or cancer arising in endometriosis. So  
2 she would be not having any stage.

3 Q Okay.

4 A If you would want to use something like an  
5 ovarian staging system, I mean, if you'd say by  
6 analogy, this might be similar to a primary tumor  
7 arising in the peritoneum; not an ovarian cancer, but a  
8 tumor arising in the peritoneum. And then you would  
9 use more of the ovarian tumor staging, and she would be  
10 a Stage IIIC. So she would already be a high-grade  
11 tumor.

12 Q By April 29, 1999?

13 A Correct.

14 Q And why is that?

15 A Because it would be then tumor present in the  
16 pelvis. It could be a IIC or a IIIC. IIC is defined  
17 as a tumor that's in the pelvic cavity. And she then  
18 also has the fluid.

19 Q But if you were to use the ovarian carcinoma  
20 staging system, the FIGO system, she would be a IC --

21 A No.

22 Q I believe that would be cancer --

23 A Well, if --

24 MR. BONEZZI: Let her finish.

25 Q (By Ms. Nissenberg) -- in either ovary with

1 positive peritoneal washings. I believe that's the  
2 FIGO IC for ovarian carcinoma; is that correct?

3 A That's correct. But you're asking -- that's  
4 with the assumption that the tumor has arisen. The  
5 primary tumor is in the ovary.

6 Q Correct.

7 A And you asked me my question, and I'm saying,  
8 this is not an ovarian tumor.

9 Q Okay. If in fact the cancer was in an  
10 endometriosis implant in the ovary, then would that  
11 change your answer? In other words, not primary  
12 ovarian?

13 A Yeah, but you're saying hypothetically if the  
14 endometriosis were in the ovary and you could establish  
15 that's where the primary tumor occurred, and the only  
16 other lesion that was found were positive washes, then  
17 it would be a stage IC.

18 Q Okay. Now, you stated that you believe that  
19 if this were in the posterior cul-de-sac or in that  
20 region, then by April '99, she would be a stage either  
21 IIC or a IIIC using a peritoneal --

22 A Essentially using the ovarian tumor staging.

23 Q -- using ovarian tumor staging.

24 Wouldn't it be likely that Dr. Kennedy  
25 would have seen some clinical evidence of this

1 during the surgery?

2 A He did. He described that there was the, you  
3 know, endometriosis, you know, in multiple areas. And  
4 that's commonly -- based on my experience of having  
5 gone back with patients that later had overt cancer  
6 that's arisen in the endometriosis, the endometriosis  
7 and the earlier tumor was present, but you couldn't --  
8 you couldn't look at it and say that's grossly cancer.

9 Q But it would be of such a size in the  
10 posterior cul-de-sac that -- or in that region that  
11 Dr. Kennedy would have noted it?

12 A No. Absolutely not. Absolutely not.

13 Q Okay. So are you saying that Dr. Kennedy saw  
14 an endometriosis implant in the posterior cul-de-sac at  
15 the time of surgery and left it?

16 A Yeah. He said there were adhesions and he  
17 described -- he described endometriosis in several  
18 different places as being essentially widespread.

19 Q I don't believe that he referred to seeing  
20 any endometriosis in the posterior cul-de-sac, if I'm  
21 not mistaken.

22 A Somewhere in there, there was a specific  
23 comment that it was in the posterior cul-de-sac. The  
24 operative note, if I remember, said the anterior  
25 vesical vaginal region --

1 Q Right.

2 A -- but later on in some places, he said there  
3 was also posterior cul-de-sac, and it was more  
4 widespread.

5 Q I'm just looking for that operative note.

6 A May we take a break for just a few moments?

7 Q Sure.

8 (RECESS TAKEN FROM 10:23 AM UNTIL 10:31 AM.)

9 Q Before we took a break, Doctor, I believe  
10 that you were stating that Dr. Kennedy had described  
11 either in his operative report or in his deposition  
12 that he visualized endometriosis in the posterior  
13 cul-de-sac of Ms. Huston.

14 Can you point to me in either document  
15 where that description of his operative findings  
16 appears?

17 A Yes. Page 84, line 6.

18 Q Okay. And are you not referring to  
19 Dr. Kennedy's theory that he developed subsequent to  
20 Ms. Huston's death that that's where he believes that  
21 the cancer arose?

22 A Part is his theory about the cancer and where  
23 it arose, but part is also, I believe, his operative  
24 finding. In the pelvic endometriosis, in the posterior  
25 cul-de-sac. To me, that's a pretty clear statement as

1 to what he saw.

2 Q Is there any reference that he saw that or  
3 that he visualized that or that he was aware of that at  
4 the time of the surgery? Is there any statement that  
5 states that?

6 A It's in the absence. If he -- if he thought  
7 that this was a logical place where it could have  
8 arisen, but hadn't seen it, then he would have said,  
9 This is a tumor that he believes had arisen in  
10 endometriosis in the cul-de-sac which he had not been  
11 able to see at the time of surgery.

12 But the fact that he makes a clear-cut  
13 statement there that there was endometriosis in the  
14 cul-de-sac, I take as an operative finding.

15 Q By the way, do you know that he testified  
16 that there was cancer developing within the  
17 endometriosis of the ovary? Do you recall that he  
18 stated that in his deposition?

19 A I don't remember.

20 Q Okay. I'll show you page 39 when I asked  
21 him: *As you sit here today, do you believe that Mrs.*  
22 *Huston had cancer on April 29, 1999?*

23 Answer: *I do.*

24 This is at page 38.

25 And then I asked: *What type of cancer do*

1     *you think she had?*

2             And he says: *On that date or*  
3     *subsequently?*

4             And I say: *On that date.*

5             And he says: *I think she had cancer*  
6     *developing within -- in the endometriosis of the*  
7     *ovary.*

8             Were you aware of that testimony as you  
9     sit here today?

10            A     May I see that?

11            Q     Absolutely.

12            A     Where is this again?

13            Q     Starting on 38, with the part that's  
14     highlighted.

15            A     May I take a moment?

16            Q     Of course.

17                         (WITNESS REVIEWS DOCUMENT.)

18            Q     Do you recall reading that testimony when you  
19     read Dr. Kennedy's transcript?

20            A     Yes, but during -- without reading all the  
21     antecedent pages, the question is: What was his  
22     thinking at the time on April 29 as opposed to what was  
23     his thinking later after he had seen the operative  
24     finding and he had done his thinking later.

25            Q     Well, I have to disagree with you, Doctor,

1 because on April 29, 1999, Dr. Kennedy did not think  
2 that Mrs. Huston had cancer developing within the  
3 endometriosis of the ovary. That was his opinion when  
4 I deposed him.

5 On April 29, everyone was under the  
6 impression Mrs. Huston did not have cancer; isn't  
7 that true?

8 On April 29, 1999, the findings were to  
9 the effect that Mrs. Huston did not have cancer;  
10 isn't that true?

11 A I believe so, but there have been so many  
12 opinions back and forth, I -- I can't put all this  
13 together as to who thought what at the moment --

14 MR. BONEZZI: She's talking about after the  
15 operation on April 29 and after the tissue had been  
16 reviewed for purposes of pathologic interpretation was  
17 concluded, the overall total opinion was that  
18 Mrs. Huston did not have any type of malignancy.

19 THE WITNESS: That's correct.

20 Q (By Ms. Nissenberg) So this opinion about  
21 Dr. Kennedy believing that she had cancer developing  
22 within the endometriosis of her ovary was not his  
23 opinion on April 29, 1999. It was his opinion as I  
24 asked him, as I just related to you; isn't that true?

25 I say: *As you sit here today, do you*



1     *believe that Mrs. Huston had cancer on April 29,*  
2     *1999?*

3             A     And that was his answer.

4             A     Okay. That's his answer.

5             Q     Okay. If Mrs. Huston had a IIC or a IIIC  
6     cancer in April of 1999, you would expect to have a  
7     positive peritoneal wash, wouldn't you?

8             A     Based on my experience, probably, but  
9     certainly not always. Quite commonly, it's negative.

10            Q     With a IIIC, it would be negative?

11            A     I have seen it plenty of times negative.

12            Q     Having read Dr. Tench's first report, do you  
13     disagree with any of his findings?

14            A     May I see his first report?

15            MR. BONEZZI: You have it right there.

16                   (WITNESS REVIEWS DOCUMENT.)

17            THE WITNESS: Now, your question was again?

18            Q     (By Ms. Nissenberg) Having looked at the  
19     first of Dr. Tench's reports, do you have any  
20     disagreements with what he states therein?

21            A     Yes, I do.

22            Q     And what is that?

23            A     In the second paragraph, he says that the  
24     patient has a high-grade cancer present in the uterus.

25            Q     The uterus?

1           A     Hysterectomy.

2           Q     I'm sorry. He doesn't state *uterus*.

3           A     Sure he does. Hysterectomy.

4           Q     I think he's referring to the total abdominal  
5 hysterectomy with bilateral salpingo-oophorectomy that  
6 was performed in 1999.

7           A     That's not what he said. But given -- given  
8 that may be the supposition, I would certainly disagree  
9 with it because I do not feel that there is any cancer  
10 in the ovary.

11                     Let's see. The next sentence:  
12 "...contains malignant cells that were not diagnosed  
13 at that time..."

14                     That is -- you know, that's stated with a  
15 view to the past, and we've gone through that quite  
16 extensively. Based on the material that was  
17 present, regardless of what one thinks later on, it  
18 would be a mistake to call that a malignancy at the  
19 time, because if you did, that would lead to a  
20 tremendous number of false positive diagnoses of  
21 cancer.

22                     And the last statement is -- I really  
23 don't have an agreement or disagreement with.  
24 That's a conclusion based upon the first two  
25 sentences.

1           Q     Well, let me ask you then. Putting aside the  
2 fact that we're looking at this through a retrospective  
3 scope, which is true in any medical malpractice  
4 litigation, is the statement true that the pelvic  
5 washing cytology specimen obtained at the time of the  
6 surgery in 1999 contains malignant cells?

7                     Is that a true statement or is that a  
8 false statement, in your opinion, having looked at  
9 the pelvic wash slides yourself?

10          A     They may be malignant.

11          Q     Now, do you -- I'm sorry.

12          A     But you can only say that after you put  
13 together the entire case. It could not be said  
14 prospectively going forward. That would be an  
15 inappropriate diagnosis to call them malignant.

16          Q     Have you had an opportunity to see  
17 Dr. Tench's supplemental report?

18          A     Let's say I saw it, but I haven't had a  
19 chance to read it.

20          Q     Okay. Would you please read it now and tell  
21 me with what you disagree.

22                     (WITNESS REVIEWS DOCUMENT.)

23          A     Let's go through this slowly. We'll start on  
24 the second paragraph.

25                     He states, *There is a focus of cellular*

1 atypia in the B6 recut located in association *with*  
2 endometriosis which *is* highly suspicious for a  
3 malignancy.

4 I would agree that there *is* a focus of  
5 cellular atypia. I would not say that it is highly  
6 suspicious for malignancy. Based on my own  
7 experience, I have seen this any number of times and  
8 I have never diagnosed it as highly suspicious for  
9 malignancy nor have I seen these to go on to become  
10 cancer.

11 Q That's because the malignant transformation  
12 of endometriosis is such a rare event?

13 A No. The supposition is it's rare and the --  
14 most of the endometriosis that you see is absolutely  
15 bland.

16 If you take only those cases with a very  
17 high degree of atypia, then I would expect of those  
18 cases only, the frequency of malignant  
19 transformation should be quite high. And in --

20 Q And -- I'm sorry.

21 A And in my experience, that is not the case.

22 Q And in fact, the right ovary -- actually, B6  
23 is described as right fallopian tube and endometriosis  
24 and almost no ovarian tissue, correct?

25 If you recall from the original path

1 report?

2 A Right. And I think it was actually ovary and  
3 it had some fallopian tube.

4 Q On the final diagnosis, Doctor, it states:  
5 Right fallopian tube, salpingectomy -- that's B -- as  
6 well as above that, the right ovary B.

7 But when you look at the description, I  
8 believe that B6, which is the only aspect of the B  
9 slides or the B cassettes that deals with the ovary  
10 states: Fallopian tube, cyst wall with question  
11 mark, residual ovary,

12 Is that -- am I reading that correctly?

13 A I don't have it here, but --

14 Q Oh, I'm sorry.

15 A -- I'll assume you're reading it --

16 Q B6.

17 A Without re-reviewing the slide at this  
18 particular moment, when you have endometriosis and you  
19 have adhesions and you have fallopian tube and you have  
20 the ovary, it's not always easy to discern what exactly  
21 is in the ovary, what is in the fallopian. And so I  
22 would not particularly sit and quibble whether it's  
23 ovary, fallopian tube.

24 Q Right. But you've seen examples in which  
25 endometriosis has almost supplanted the ovarian tissue?

1           A     Correct.

2           Q     Okay.  So are you through with the first  
3 sentence?

4           A     Yes.

5           Q     Okay.  Next sentence of the second paragraph?

6           A     Okay.  Now, the second sentence, he is --  
7 Dr. Tench is basing his opinion on the testimony of  
8 Dr. Biscotti --

9           Q     Which you have not read.

10          A     -- which I have not read.

11          Q     Okay.  So moving on.  This again refers to  
12 Dr. Biscotti's testimony?

13          A     Right.  Anything that -- *Dr. Biscotti says*  
14 *that there's a focus of cellular atypia in the original*  
15 *section that was more severe than in the recut.*

16          Q     And since you haven't seen the original of B6  
17 and you haven't read Dr. Biscotti's testimony, you  
18 really couldn't comment fairly on that?

19          A     Only to the extent that having seen thousands  
20 upon thousands of cases where there are recuts, you may  
21 find individual cells that would be more atypical, but  
22 I would be very surprised, as we discussed before, to  
23 find a tremendous gross discrepancy from cellular  
24 atypia on a one-cell layer to suddenly something that  
25 you would call highly suspicious of cancer or cancer in

1 the immediate next section.

2 Q But again, since you haven't seen the  
3 original B6, would you defer to Dr. Biscotti's  
4 testimony as to what he saw when he personally  
5 visualized that slide?

6 MR. BONEZZI: Objection. You can answer.

7 THE WITNESS: No, I'm going to reserve that  
8 until I actually see what his testimony is.

9 Q (By Ms. Nissenberg) Okay. If I've  
10 represented his testimony correctly, that in fact the  
11 original of B6 was much more dramatic and much more  
12 marked in the degree of atypia, such that according to  
13 Dr. Kennedy, he referred to it -- to Dr. Kennedy as a  
14 small focus of high-grade cancer, and since  
15 Dr. Biscotti is the only one that's seen the original  
16 B6 and you haven't, would you defer to his opinion with  
17 respect to what he saw when he personally visualized  
18 the original of B6?

19 MR. BONEZZI: Objection.

20 THE WITNESS: I would defer to his opinion as  
21 to what he saw, but having been in this situation any  
22 number of times of having seen, you know, highly  
23 atypical lesions and where we have had major  
24 conferences and disagreements, I would not -- I would  
25 not give weight to say that that is a malignant focus.

1           We've had, you know, great discussions  
2       where some people will say something is cancer and  
3       some will say it is not.

4           Q       (By Ms. Nissenberg) Okay. In looking at the  
5       sentence that you were just referring to --

6           A       Uh-huh.

7           Q       -- *Given the presence of this focus in the*  
8       *original material, the standard of care would require*  
9       *that an intensive additional effort be undertaken to*  
10       *search for additional and more diagnostic foci of*  
11       *atypia.*

12          A       There I would say no. Based on my  
13       experience, and this is where we're talking earlier of  
14       cases that show atypia, primarily large macronucleoli,  
15       we have followed those and it's uncommon to actually  
16       see those go on and become cancerous.

17          Q       But you did tell us earlier that there are  
18       situations in which you get back to the surgeon or the  
19       treating physician and say, We probably need to look  
20       for something else here. Those situations exist, do  
21       they not?

22          A       They do and that's on an individual  
23       consideration.

24          Q       On an individual basis, correct?

25          A       Yeah.



1 Q Okay.

2 A But the fact that he's used the words here  
3 does not mean that this would be one of the cases.

4 Q In your opinion, it wouldn't, of course?

5 A Well, no, you'd have to go back and ask  
6 Dr. Biscotti: Was that his opinion? You know, what  
7 would he do in that case, since he saw them? What was  
8 his degree?

9 Q Okay. That's more than fair.

10 Okay. What about the next sentence?

11 A Okay.

Q And this again is based on his finding that  
13 there were malignant cells.

14 A Right. And that I disagree with.

15 Q Okay. However, if you accepted his premise  
16 that there were malignant cells in the pelvic washing,  
17 would then the rest of his sentence be accurate?

18 A I take the second half of that sentence as  
19 being wishful thinking. He first states declaratively  
20 there are malignant cells present. That's -- that's  
21 his opinion. Then he goes on. He says, *If* -- and now  
22 he changes it to supposition.

23 Now, essentially, *if there were malignant*  
24 *cells*, he says, *then there should be additional*  
25 *other foci of cancer that should have been present*

1     *and should have been identified.*

2           Q     So you disagree with that?  You think that's  
3     wishful thinking?

4           A     That's wishful thinking because that's --  
5     that just doesn't happen in medicine.

6           Q     Okay.  And the last sentence in his opinion,  
7     that the atypical cells present in the B6 recut are  
8     morphologically similar to those seen in the pelvic  
9     washings, the vaginal biopsy and the small bowel  
10    excision, you obviously disagree with that?

11          A     Let me read this.

12          Q     I'm sorry.

13          A     First, there's a factual error here that YOU  
14    will need to explain.

15                   *It is my opinion that the atypical cells*  
16    *present in the recut of S99-20450 -- that's an*  
17    *entirely new number, isn't that?*

18          Q     I don't think so.  I think that's --

19          A     There's the C99 --

20          Q     That's the --

21          A     -- 17617.

22          Q     I believe the C refers to the --

23          A     Cytology.

24          Q     -- cytology.

25          A     Okay.

1           Q     This is the first recut of B6 that you've  
2     seen.

3           A     Okay. Okay. *There are similarities in the*  
4     *vaginal biopsy and the small bowel excision.*

5                     I would defy anybody to say that the cells  
6     present in the pelvic washings could be compared to  
7     the cells found in the tumor and call them similar.  
8     If he had a blind reading of these and had five  
9     different tumors and the pelvic washings, I suspect  
10    he'd have a very difficult time saying which belongs  
11    to which.

12          Q     What about similarities between B6, the small  
13    bowel, and the vaginal biopsy?

14          A     There are some similarities.

15          Q     And in fact, Dr. Biscotti testified that the  
16    same process and the same adenosquamous carcinoma was  
17    seen in both the original B6 and the vaginal biopsy;  
18    isn't that true?

19          A     Please repeat that.

20          Q     And in fact, Dr. Biscotti testified that the  
21    same morphology, the same process and the same  
22    adenosquamous carcinoma were seen in both the original  
23    B6 and the vaginal biopsy. Do you recall that or --

24          A     No, I haven't seen his --

25          Q     Okay.

1           A     But the comment is that there's a layer of  
2     cells on the endometriosis that has some features, but  
3     I would not go further than that. And I certainly  
4     wouldn't compare a single layer of cells to a nodule of  
5     tumor.

6           Q     Would you disagree then with Dr. Biscotti?

7           MR. BONEZZI: Objection.

8           THE WITNESS: Again, I haven't read  
9     Dr. Biscotti, so...

10          Q     (By Ms. Nissenberg) Okay. Assuming  
11     hypothetically that I'm correctly -- this isn't really  
12     hypothetical.

13                 I'm representing to you that Dr. Biscotti  
14     testified that he saw adenosquamous in the same  
15     process in both the original B6 and the vaginal  
16     biopsy. Would you disagree with that?

17          A     Yeah, that I would.

18          MR. BONEZZI: Objection.

19          THE WITNESS: There's no way that anybody  
20     would look at the ovarian slide, that B6, from the  
21     recuts I have, and call that adenosquamous carcinoma.

22                 I think your comment is saying as he puts  
23     the whole case together, he's saying that could be.

24          Q     Okay.

25          A     But anyone looking at that one slide would

1 actually never call that adenosquamous whatsoever.

2 Q Dr. Biscotti testified that at the time he  
3 looked at the vaginal biopsy, and then he had B6 there.  
4 So it's after the 1999 surgery. It's in 2000.

5 A Right.

6 Q That at that time, it was his opinion and it  
7 is his opinion, that both show the same process and  
8 both show adenosquamous carcinoma.

9 Is Dr. Biscotti wrong?

10 MR. BONEZZI: Objection.

11 THE WITNESS: I would like to see the context  
12 that he's described that in.

13 Q (By Ms. Nissenberg) Okay. And in fact, he  
14 has seen the original B6 and you have not?

15 A Correct.

16 MR. BONEZZI: Objection.

17 Q (By Ms. Nissenberg) Now, you state in your  
18 opinion that the pelvic tumor -- I assume you're  
19 referring to the tumor that was biopsied in the year  
20 2000, the vaginal biopsy -- was of a substantially  
21 different histologic type than the neoplasm in the  
22 right ovary.

23 What is that based on?

24 A Observation.

25 Q Okay. Now, you saw the recut of the right

1       ovary and you're saying that you really can't make a  
2       histologic determination.

3           A       Please -- please -- let me have a copy of  
4       what I've written.

5                       (DOCUMENT PROVIDED TO WITNESS.)

6           A       Which paragraph?

7           Q       it starts with *My review*.

8           A       First thing, I think I have the slides  
9       backwards here.

10          Q       You have the slides backwards?

11          A       The slide.

12          Q       The slide backwards?

13          A       Because I think we said that the large  
14       ovarian tumor was left; is that not correct?

15          Q       That's correct.

16          A       Okay. So my report then has an error. so *My*  
17       *review of the ovarian fallopian tube and uterine*  
18       *specimens from 1999 confirm that the neoplasm in the*  
19       *right ovary was benign.*

20                   That should be the left ovary.

21          Q       Oh, that should be the left ovary?

22          A       Yeah.

23          Q       Okay. The left ovary was benign.

24          A       Uh-huh.

25          Q       Okay.

1           A     Okay. And then we -- now we jump to the next  
2 sentence. *The endometriosis that was present in the*  
3 *recut slides I reviewed from the left fallopian tube* --  
4 and I believe that should be right fallopian tube,  
5 correct?

6           Q     Okay. That would be -- that would be the  
7 slide recut of B6, but you also, I'm sure, looked at  
8 the left side slide. So I don't know which one you're  
9 referring to. You want to correct that?

10          A     I'd have to go back and re-look at the slides  
11 to double-check that.

12          Q     Okay. But I believe that you state that  
13 whichever side you examined, it was a substantially  
14 different histologic type than the vaginal biopsy?

15          A     Yes. The large ovarian tumor was a mucinous  
16 tumor -- it was a cyst and a mucinous tumor that was  
17 benign.

18          Q     Okay. So what you're saying then, if I'm  
19 reading your report and what you're saying to amend it  
20 now, is that the histology of the cyst adenoma on the  
21 left, which was dominant mucinous and partly serous, is  
22 a different histology than the vaginal biopsy of June  
23 of 2000?

24          A     Correct.

25          Q     Okay. That goes without saying. What about

1 the right, even though you haven't seen the original?  
2 Can you state definitively that the histology of the B6  
3 specimen and the original is different than the  
4 histology of the vaginal biopsy in June of 2000?

5 A There are some similarities. *It was a single*  
6 *layer of cells with large prominent -- a large*  
7 *prominent nucleus, distinct cytoplasmic borders --*

8 Q Okay.

9 A -- *and somewhat eosinophilic cytoplasm that*  
10 *had some morphologic similarity to that present in the*  
11 *vagina.*

12 Q So we almost need to redo that whole  
13 paragraph since you have the slides backwards and...

14 When you're referring to the different  
15 histology, you're referring to the left as opposed  
16 to the right, correct? You're referring to the left  
17 cystadenoma?

18 A Right.

19 Q Okay. Correct not right.

20 A And there was endometriosis on the right, and  
21 the endometriosis, most of it was just absolutely  
22 typical endometriosis. And there was a -- you know, an  
23 area of atypia that I would not --

24 Q Okay. But your statement that it was a  
25 different histologic type refers to the left side, not



1 the right?

2 A Correct. Correct. And even to the right  
3 side, I would not have linked the two.

4 Q And you also state in your report that the  
5 cells in the pelvic wash in retrospect share  
6 similarities with the cancer that later developed.

7 In what way did they share such  
8 similarities?

9 A That they were glandular.

10 Q Anything else?

11 A Without having seen them, but it was -- they  
12 were glandular. There were some mitoses and some large  
13 nucleoli. which could be present in any atypical smears.

14 Q And are those the only bases on which you  
15 state that in retrospect they share similarities with  
16 the cancer that later developed?

17 A I believe so.

18 Q And in fact, when you looked at the pelvic  
19 wash slides, you saw epithelial cells, didn't you?

20 MR. BONEZZI: Object.

21 THE WITNESS: That is correct.

22 Q (By Ms. Nissenberg) Are you aware, as you  
23 sit here today, that Dr. Gramlich testified that the  
24 pelvic wash slides were not absolutely benign?

25 MR. BONEZZI: Objection. He hasn't seen the

1 transcript.

2 Q (By Ms. Nissenberg) Are you aware that he  
3 testified to that?

4 A No.

5 Q Okay. Was there anything in Dr. Weiss's  
6 report with which you disagreed?

7 THE WITNESS: This is yours?

8 MR. BONEZZI: Uh-huh.

9 THE WITNESS: I'm going to take a minute and  
10 read his report.

11 (WITNESS REVIEWS DOCUMENT.)

12 (RECESS TAKEN FROM 10:58 AM UNTIL 11:03 AM.)

13 **THE WITNESS:** Your question was: Did I have  
14 any disagreements?

15 Q (By Ms. Nissenberg) Yes. And I recognize  
16 that you are not practicing as an ob-gyn. You said no  
17 amount of your clinical time you spend in ob-gyn. And  
18 you're also not a gyn oncologist. But with that  
19 proviso, do you have any disagreements?

20 A Let me disagree first with your supposition.

21 Q Okay.

22 A I am not a gynecologic oncologist nor do I  
23 treat the patients. But I do teach the gynecology  
24 residents. Much of the type of material that's here,  
25 we do discuss.

1 Q Okay.

2 A And based on the team approach that we have,  
3 I'm certainly familiar with a good deal of this.

4 Q Okay.

5 A My first disagreement is in paragraph 3 that  
6 begins, *The development of a vaginal mass discovered --*

7 *Q Diagnosed?*

8 A *Diagnosed.* Correct.

9 Q Okay.

10 A It's in the last sentence of that paragraph  
11 where it says, *The vaginal apex -- well, an endometrial*  
12 *implant at the vaginal apex where the right ovary was*  
13 *adherent.. .*

14 I'm not sure that that was described in  
15 the record. The adhesions were to the -- if I  
16 remember -- the pelvic side wall and not to the  
17 vagina.

18 Q And if in fact Dr. Kennedy described either  
19 in his deposition or in the op report that it was also  
20 adherent at the vaginal apex, would you then still have  
21 the same disagreement with that sentence?

22 A Yes, because he says in the sentence above,  
23 "persistence of cancer." And I don't believe that the  
24 ovarian specimen with the endometriosis was a cancer.

25 Q I'm sorry. I think you are misreading that.

1 He says, "...persistence of cancer present in an  
2 endometrioid implant at the vaginal apex..." --

3 A Wait a minute. Let me read this.

4 Q -- which comports with your theory that there  
5 was already cancer in endometriosis in the posterior  
6 cul-de-sac or that region at the time.

7 A Right, That's -- that's my belief. But I  
8 took this sentence to mean that he said the right ovary  
9 was cancerous and it was a persistence of the ovarian  
10 cancer.

11 Q Well, I think, in all fairness, that you are  
12 only reading part of the sentence.

13 A Okay.

14 Q Because he says, "...cancer present in an  
15 endometrioid implant at the vaginal apex..."

16 A Right. But persistence -- it's the word  
17 *persistence*.

18 Q Well, if in fact your theory is correct, that  
19 it was present in April 1999 and it wasn't picked up  
20 until June or July of 2000, and it's the same location  
21 and it's the same cancer, wouldn't *persistence* be an  
22 appropriate word then? It wasn't a new primary.  
23 According to your testimony, it was there in  
24 April 1999.

25 A Right. It's an old -- it's an old -- okay.

1           Q     So then that -- *persistence* might be  
2 appropriate?

3           A     That's fine. As long as we're clear that  
4 we're talking -- it was a cancer that was present there  
5 before. Whatever words you want to use are fine.

6           Q     Okay. I think you agree then.

7           A     The next sentence, "The diagnosis of the  
8 original cancer in the right ovary..."

9           Q     And by this he's referring to the original  
10 B6?

11          A     Right. And I would disagree with that --

12          Q     Okay.

13          A     -- based upon the recut.

14                 And then the word *negligent*. I mean,  
15 that's going to be a lawyer's term. That's my  
16 concern in that paragraph.

17                 In the next sentence, "The pelvic  
18 washings...", again, we're talking about missing  
19 cancer cells found in review.

20                 We've discussed this, that on a  
21 prospective basis, I think that would be  
22 inappropriate to call that cancer because that would  
23 mean many -- there would be many, many false  
24 positives in that.

25          Q     So you don't disagree that cancer cells --

1           A     I'm not sure we can call them cancer cells.  
2     We've said that they're, you know, atypical cells. You  
3     know, when you put the whole picture together, there  
4     may be the cancer cells. But I think that becomes a  
5     missing -- a moot point.

6           I think the intent of this paragraph  
7     really sounds like it's a gross negligent read of  
8     the slides, and I just disagree with that.

9           Q     Well, that's your interpretation or your --

10          A     That's correct.

11          Q     -- inference that it refers to gross.

12          A     That's correct, but you asked me what -- what  
13     do I disagree with. And that's how I --

14          Q     Okay.

15          A     -- interpret what he has said there.

16          Q     Okay. And your major point is that you're  
17     looking back on it in review and not prospectively?

18          A     That's correct.

19          Q     Okay. So taking out the word *negligently*,  
20     which is a legal term in this sense, you don't disagree  
21     that they were misread prospectively, you just  
22     disagree --

23          A     As I said, if I were reading that, the slide,  
24     I would not have even identified anything particularly  
25     wrong. So I do -- you know, I am concerned by that

1 whole concept.

2 Q Let me restate it. You disagree that they  
3 were misread prospectively?

4 A Correct.

5 Q Okay. The last sentence?

6 A That I agree with.

7 Q Okay.

8 A Now, let's go to the discussion.

9 Q Discussion.

10 A I have a number of problems with the first  
11 sentence of the discussion. Dr. Weiss speaks of  
12 *correctly diagnosed*. If -- let me take a second.

13 (WITNESS REVIEWS DOCUMENT.)

14 A I really find the problem with the word  
15 *correctly*. I mean, if a diagnosis for cancer had been  
16 made at the time of the initial surgery, of course the  
17 patient would have had some earlier further treatment.  
18 What it would be, how effective it would be, would be  
19 another matter that we'll get to. But I disagree with  
20 the problem of *correctly* because I don't think that it  
21 was incorrectly diagnosed.

22 Q Based on what you've already testified to?

23 A Right.

24 Q Okay.

25 A Okay. "The surgical stage of her ovarian

1 cancer...' I've already stated I don't think that this  
2 is an ovarian cancer. Okay. And therefore, it's not a  
3 Stage IC.

4 I believe that this was really a higher  
5 grade lesion. And as a higher grade lesion, the  
6 survival would drop down quite dramatically for  
7 Stage II -- you know, Stage II, Stage III. We're  
8 talking of a survival probably in the range of --  
9 somewhere in the range of 20 or 30 percent to 50  
10 percent, possibly.

11 The next sentence, "The defendants'  
12 failure to make a timely diagnosis..." Well, again,  
13 if I were the surgical pathologist, there is no way  
14 that I could have made a diagnosis, and therefore,  
15 the word *timely* becomes meaningless. And "beneath  
16 the standard of care" is, you know, a legal concern.

17 "The failed diagnosis..." Well, I  
18 couldn't have made the diagnosis. Obviously, if  
19 someone had made a diagnosis -- if someone could  
20 have said that this was a cancer, of course there  
21 would have been a 16-month earlier treatment, but  
22 I'm not sure even with that, whether the next part  
23 of the sentence would be pertinent. It says "...at  
24 which time she had advanced disease with little  
25 chance of cure."



1           My feeling is that this is a Stage II --  
2   if you use the ovarian or peritoneal -- Stage I? or  
3   Stage III tumor, in which case it is already  
4   advanced at the time of the initial surgery. And I  
5   don't want to say little chance of cure, but  
6   certainly the cure rate is nothing close to what  
7   ehe -- Dr. Weiss has said.

8           "The tumor was refractory to treatment..."  
9   is his statement. I will leave that to the  
10   gynecologic oncologist, but just watching the  
11   course, I mean, this extraordinarily rapid course  
12   and the drugs that she received, my supposition is  
13   this is a highly refractory cancer whether she was  
14   treated back in 1999 or 2000. But in that I would  
15   defer to the clinician, to the gynecologic  
16   oncologist.

17           And the rest of that sentence, I will  
18   leave to the gynecologic oncologists who are dealing  
19   with the palliation and complications.

20       Q    Okay. What are -- I'm sorry. I thought you  
21   were done.

22       A    No. There's another paragraph on page 2.

23       Q    Oh.

24       A    It says, "Mrs. Huston was a healthy woman..."  
25   The definition, you know, obviously is what is

1 "healthy"? I think all of us are like leaky pipe  
2 systems and we don't know whether one day it's going to  
3 be the heart or a bone or prostate cancer or accident.

4 So outwardly, you know, certainly I will  
5 take that she's healthy. If she has a cancer that's  
6 already Stage II or Stage III at the time of the  
7 operation, she's not healthy. So take your pick.  
8 Is the cup half full or half empty, depending if  
9 you're looking at her from the outside or from the  
10 inside.

11 And the same issue, a Stage IC in the 60  
12 to 80 percent. I believe she's higher stage  
13 already. And a normal life expectancy, obviously,  
14 would follow. If she has a higher stage tumor, it's  
15 going to be less.

16 Q If hypothetically she were a Stage IC, then  
17 would you agree with the statements Dr. Weiss makes in  
18 that respect?

19 MR. BONEZZI: Objection. Go ahead and  
20 answer.

21 THE WITNESS: If she were Stage IC, which I  
22 would interpret him meaning or your hypothetical  
23 question meaning, that this was truly an ovarian cancer  
24 that had fluid and nothing else, just with, you know,  
25 ovarian statistics, 60 to 80 percent would be

1 reasonable.

2 But the fact that she had the recurrence  
3 in the manner that she did is unlike an ovarian  
4 cancer, so...

5 Q (By Ms. Nissenberg) Well, hypothetically,  
6 you used the ovarian staging system even though you  
7 thought it was in the endometriosis implant.

8 A Right.

9 Q So if she were a IC using the ovarian system,  
10 FIGO system --

11 A And this were truly an ovarian cancer and the  
12 only spread was the fluid, yes, I would say that she --

13 Q What, hypothetically, if she were having this  
14 cancer in the endometriosis implant in the posterior  
15 cul-de-sac or similar region, and she were truly a IC,  
16 using that staging system --

17 A Then she would --

18 MR. BONEZZI: Objection.

19 THE WITNESS: I'm sorry.

20 MR. BONEZZI: Objection. I mean, that  
21 doesn't make sense.

22 Q (By Ms. Nissenberg) Well, it makes sense  
23 because you're saying that even if it's in the  
24 endometriosis implant, you're going to use the ovarian  
25 staging system hypothetically.

1                   **MR. BONEZZI:** Objection.

2                   **THE WITNESS:** What I'm saying is if it's in  
3 an implant and it's, you know, not in the ovary --

4           Q        (By Ms. Nissenberg) Uh-huh?

5           A        -- then she would have already had wider  
6 spread. So I would consider that a higher stage tumor.

7           Q        Okay. And what bases do you have for your  
8 opinion that it was in the endometriosis implant as  
9 opposed to the ovary?

10          A        I don't see the cancer there in the ovary.

11          Q        I'm sorry?

12          A        I don't see cancer in the ovary.

13          Q        In the recut?

14          A        In the recut. Second, the ovarian tumor --  
15 let me restate this.

16                   Let us take a hypothetical. Let us say  
17 it's cancer. Okay. There's cancer in the ovary  
18 hypothetically. Certainly, a minimum amount of  
19 cancer because this ovary has been looked at  
20 grossly. They've opened it. They've sectioned it.  
21 So there was nothing, you know, overtly cancer. It  
22 would be a microscopic cancer.

23                   The surgeons have now removed the ovary.  
24 So the only thing that is present in the patient  
25 immediately after the finishing of the operation is

1 the fluid. You just don't see a person that has  
2 fluid getting a 5-centimeter tumor and getting lysis  
3 and destruction of the anterior pubic symphysis.  
4 That doesn't -- it doesn't happen.

5 Q Well, you've heard of the term *apparent*  
6 *complete resection*?

7 A I can imagine what it means.

8 Q Okay. And wouldn't you agree that the  
9 surgeon, while he may feel that he's removed all the  
10 cancer, can leave microscopic disease behind? You  
11 would agree with that theory, would you not?

12 A Oh, sure. Absolutely. But microscopic tumor  
13 left behind would not give this kind of a recurrence.

14 Q \*If in fact the cancer were in an  
15 endometriosis implant in the ovary or on the ovary, not  
16 adhered to the peritoneal wall, then that would account  
17 for the dropping of the cells into the pelvic wash if  
18 they were malignant, correct?

19 MR. BONEZZI: Would you read that back,  
20 please?

21 (\*READ BACK.)

22 THE WITNESS: That's one possibility.

23 Q (By Ms. Nissenberg) And if in fact the  
24 cancer were, as I've suggested, in an endometriosis  
25 implant in or on the ovary, then could you stage it in

1 April of 1999?

2 A Repeat the question.

3 Q If in fact the cancer were in the  
4 endometriosis implant -- in an endometriosis implant in  
5 or on the ovary, then how would you have staged it or  
6 how would you stage it now retrospectively in April of  
7 '99?

8 A I think we've gone through that much earlier  
9 in this deposition when you asked how do I view cancer  
10 that arises in endometriosis in the ovary as opposed to  
11 an ordinary cancer that arises in the ovary without  
12 endometriosis. They would be staged similarly, the  
13 same way. They would be using the ovarian tumor  
14 classification system.

15 Q Okay. Would you agree that the final  
16 pathology reports for 1999 should have included the  
17 findings of atypia -- let's -- let me strike that.

18 Let's talk about the surgical pathology  
19 report. Would you agree that the final report  
20 needed to mention the atypia that Dr. Biscotti has  
21 described in B6?

22 A The answer --

23 MR. BONEZZI: Objection. Go ahead.

24 THE WITNESS: The answer is no, because based  
25 upon my review of the slides and my ordinary practice,

1 I would not have remarked on it.

2 Q (By Ms. Nissenberg) Now, directing your  
3 attention to the pelvic wash slides, would you agree  
4 that the final report should have included a reference  
5 to either the atypical cells the fact that they were  
6 epithelial cells, the fact that there were atypical  
7 cell clusters, the fact that there were irregularly  
8 shaped nuclei, the fact that there were mitoses? Do  
9 you think that any of those should have been included  
10 in the final report for the surgeon's benefit?

11 MR. BONEZZI: Objection. Go ahead and  
12 answer.

13 THE WITNESS: If they had been seen. We've  
14 gone through this multiple times already. I reviewed  
15 the slides initially knowing that there was a cancer.  
16 I mean, so this was not a routine type of a case that I  
17 might see, But it's knowing that there is a lawsuit,  
18 so I know that there has to be a tumor and it's --  
19 obviously, behooves me to look more carefully. With  
20 that knowledge, I still did not see anything present.

21 So your answer is: Should it be? If one  
22 sees it, obviously, it should be there. I don't  
23 think this is easily to see.

24 Q (By Ms. Nissenberg) Assuming that the  
25 cytotechnologist put dots on the slide to point out the

1 atypia that Dr. Brainard then looked at when she saw  
2 the slides, should that atypia have been included in  
3 the final record for the surgeon's benefit?

4 A You've asked a very compound question and I  
5 may break it down.

6 Not uncommonly, the cytotechnologist will  
7 put dots. That's their role, to find what they  
8 think are atypical areas. It is then the role of  
9 the cytopathologist to decide whether that is  
10 atypical or not. And that is a judgment call on the  
11 pathologist.

12 And quite commonly, we'll decide it is -- you  
13 know, they are not atypical, and in that case, there  
14 may be no comment made whatsoever.

15 Q Well, you're assuming that the  
16 cytopathologist has correctly read the pelvic wash  
17 slides in your answer, correct?

18 A Obviously.

19 Q if the cytopathologist missed the atypia, I  
20 wouldn't expect her to report it or him to report it,  
21 correct?

22 A Right.

23 Q If the cytopathologist sees atypia, would  
24 you, in your practice here at Duke, expect the  
25 pathologist to -- cytopathologist to include it in the



1 final report?

2 MR. BONEZZI: Objection. Go ahead.

3 Q (By Ms. Nissenberg) You can answer.

4 A Yes. If a degree of atypia is seen that is  
5 worthwhile to note it, it would be noted. Not  
6 uncommonly, there's slight degrees of atypia. They  
7 just decide they don't mean anything and they're not  
8 reported.

9 Q If in fact the cells seen in the pelvic wash  
10 were reported to Dr. Gramlich not what you -- or  
11 Dr. Levin not what you would expect to see in a pelvic  
12 wash slide?

13 I believe Dr. Levin testified to that. It  
14 might have been Dr. Gramlich.

15 MR. BONEZZI: No, Dr. Levin is not a  
16 cytopathologist.

17 Q (By Ms. Nissenberg) Okay. Sorry. It was  
18 Dr. Gramlich. I'm sorry.

19 If in fact the pelvic wash slides revealed  
20 cells that you would not expect to see in a pelvic  
21 wash slide, should those findings have appeared in  
22 the final report?

23 I'm referring to --

24 A I understand. You're making it very black  
25 and white.

1           Q     Well, if the slide showed atypia, if the  
2     slide showed irregular nuclei, if the cells -- if the  
3     slide showed atypical cell clusters, at Duke would  
4     those be reported in the final report by the  
5     cytopathologist?

6           A     If someone saw them and saw, you know,  
7     sufficient amounts, they might. But again, I had to  
8     sit down and spend probably 20 minutes to find -- I  
9     think there were a total of two mitoses. And that is  
10    not the way the slides are read.

11          Q     Okay. I'm not asking how long it took for  
12    you to find it.

13          A     Right.

14          Q     My question is: If these were seen, did they  
15    belong, these findings, in the final report? Not  
16    whether or not they could have been seen. Not how long  
17    it took you to find them.

18                Assuming that these were seen,  
19    hypothetically, wouldn't they belong in the final  
20    report and aren't they put in the final report at  
21    Duke, especially in a patient who's presenting for  
22    pelvic masses of unknown etiology?

23                MR. BONEZZI: Objection. Go ahead and  
24    answer.

25                THE WITNESS: I'm not sure that I can answer

1       that.

2           Q       (By Ms. Nissenberg)   Okay.  At Duke, are you  
3       telling me that the standard is not to report the  
4       findings that I've just described to you if they are  
5       seen by the cytopathologist?

6                   MR. BONEZZI:  Objection.

7           Q       (By Ms. Nissenberg)  Is that the standard  
8       here?

9                   MR. BONEZZI:  Objection to what the standard  
10       is here.  Go ahead and answer.

11                  THE WITNESS:  Yeah, I'm not sure I can really  
12       answer that.

13           Q       (By Ms. Nissenberg)  Okay.  So you can't tell  
14       me as you sit here today, whether or not when you teach  
15       pathology residents -- and I assume that you do --

16           A       Right.

17           Q       -- whether or not you tell them that if you  
18       see personally cells that don't normally belong in a  
19       pelvic wash, epithelial cells and/or atypical cells  
20       and/or atypical cell clusters and/or irregular nuclei,  
21       you don't teach them to include that in the final  
22       pathology report for the slides?

23                  MR. BONEZZI:  Objection.  Go ahead and  
24       answer.

25                  THE WITNESS:  The way I take your question is

1 you're talking about degrees. Not uncommonly some of  
2 these findings are found and they are ignored.

3 Q (By Ms. Nissenberg) Do you think it was  
4 appropriate to not recognize these findings at the  
5 time?

6 MR. BONEZZI: Objection.

7 THE WITNESS: I've told you multiple times  
8 that I would not have seen them.

9 Q (By Ms. Nissenberg) Assuming that they were  
10 seen though, you can't say as you sit here, that they  
11 should have been reported out to the surgeon?

12 A If they were seen and if the person who saw  
13 them thought that they were significant, they would be  
14 reported. Those are two big *ifs*.

15 Q And as far as the ability to see them, would  
16 that depend at all on the training or experience of the  
17 cytopathologist?

18 MR. BONEZZI: Objection.

19 THE WITNESS: I would -- yeah, I would say  
20 yes.

21 Can I ask a question? Because you're just  
22 asking that as a blank yes or no. Are there any  
23 qualifications on your last question?

24 Q (By Ms. Nissenberg) No.

25 If in 1999 the surgical specimens had been

1 read out as showing cells suspicious for malignancy,  
2 or as Dr. Kennedy said Dr. Biscotti told him,  
3 showing a small focus of high-grade cancer, what  
4 recommendations, if any, would the pathologist make  
5 back to the treating surgeon?

6 A You've just given me two choices that are so  
7 far apart, I couldn't even start to answer.

8 Q I'll break it down.

9 A Break it down.

10 Q If in 1999 the surgical specimens showed  
11 cells suspicious for malignancy, what would the  
12 pathologist recommend to the treating surgeon, if  
13 anything, with respect to the patient?

14 A In the pelvic wash or the --

15 Q The surgical specimens or pelvic wash.

16 A Probably would recommend nothing. It's -- he  
17 would just make the statement and there would be no  
18 recommendation.

19 Q So he wouldn't recommend specific steps to  
20 take next to ascertain whether or not there's frank  
21 malignancy anywhere?

22 A No. Well, he would have said by the  
23 statement in the ovary -- if he had said there was  
24 suspicion. But the fact it was a pathologist who had  
25 the ovary and had already examined it fairly

1 thoroughly, there could be a suspicion that there is no  
2 cancer there because that's been examined.

3 For the fluid, on the other hand, if  
4 someone said there's suspicion of cancer, then this  
5 would be thrown back to the clinician to think about  
6 what other possible sources. Could this be from the  
7 stomach? Could it be from the lung? Could it be  
8 from some other area that's throwing off a cancer?

9 Q Could be from an endometriosis implant, could  
10 it not?

11 MR. BONEZZI: Objection.

12 THE WITNESS: It could be from anything. It  
13 could be benign. It could be irritation. It could be  
14 chemical.

15 Q (By Ms. Nissenberg) So if in fact the pelvic  
16 wash would have been read out hypothetically as showing  
17 cells suspicious for malignancy, if the pathologist who  
18 read the surgical specimens was confident that there  
19 was no cancer in those specimens, as we discussed  
20 earlier today, then in fact the pathologist or the  
21 clinician would look elsewhere for the primary site,  
22 correct?

23 MR. BONEZZI: Objection.

24 THE WITNESS: The person would look  
25 elsewhere, but much of the looking is generic so

1     it's -- it's not that you're specifically looking at a  
2     specific organ. You would just start a potential, very  
3     mild workup for cancer.

4           Q     (By Ms. Nissenberg) Right. But in this case  
5     where the patient had no clinical evidence of disease  
6     outside of the pelvis --

7           A     Right.

8           Q     -- everything else was negative, both on  
9     clinical exam and through any diagnostic procedures,  
10    you would look for the gyn source first, would you not?

11          A     Well, we've already removed all the gyn  
12    organs.

13          Q     Well, you've left -- no, you've not done a  
14    radical hysterectomy. You've done a total abdominal  
15    hysterectomy. So you've left gyn tissue behind in this  
16    patient, correct?

17          A     If any surgeon went ahead and did a radical  
18    after that, he would be brought into a court  
19    immediately for negligence.

20          Q     I'm not suggesting that Dr. Kennedy should  
21    have done a radical. I'm just --

22          A     No. No. I understand that.

23          Q     Okay.

24          A     I'm saying the question as it's asked is  
25    inappropriate. That would be absolutely inappropriate.

1 And it would be unheard of for a cancer to occur in the  
2 paracervical soft tissue after the hysterectomy has  
3 been done. It would just be unheard of.

4 Q The point is that there was gyn tissue left  
5 in this patient after the surgery.

6 A Not in my sense, no.

7 Q You don't consider the vagina to be gyn?

8 A The patient's already had a pelvic exam and  
9 nothing was seen there. You might do a pap smear which  
10 would just be in the routine course. But you would not  
11 go back and do a vaginectomy or --

12 Q I don't know how you got on this subsequent  
13 surgical bent, but my question is --

14 A You brought up the --

15 Q No. My question originally was: If the  
16 pelvic wash slides were positive, were correctly -- or  
17 let me strike that.

18 If hypothetically the pelvic wash slides  
19 were correctly read as positive, but the surgical  
20 specimens that had been submitted were negative and  
21 the pathologist was confident that they were  
22 correctly read out as negative, then wouldn't the  
23 treating physician look for a primary site elsewhere  
24 starting with the gyn area?

25 And you said it was all gone. And I'm



1 disagreeing with that since it wasn't a radical.

2 I'm not saying you should have done a radical.

3 A Okay.

4 Q Okay. Wouldn't the treating surgeon in this  
5 case have looked for another site beginning with the  
6 gyn tissue that was remaining in this patient, if in  
7 fact what was removed didn't show any cancer?

8 A First, you slipped in the word if the -- if  
9 the cytology was positive.

10 Q I said hypothetically if it was correctly  
11 read as showing malignancy. Okay.

12 A But regardless. No, I don't think so. I  
13 think the surgeon would be puzzled and wonder what is  
14 the source. I do not think that the surgeon would look  
15 and say this is something within the gynecologic tract.

16 Q You're aware that Dr. Kennedy testified that  
17 Mrs. Huston had no evidence of cancer, endometrial  
18 cancer, tubal cancer or peritoneal cancer, any evidence  
19 of that? Do you remember that testimony?

20 MR. BONEZZI: Objection.

21 THE WITNESS: Vaguely, but I would go back  
22 and reread it. But continue on, please.

23 Q (By Ms. Nissenberg) Well, that was my  
24 question, that he didn't feel that there was any  
25 evidence of peritoneal cancer, tubal cancer or

1 endometrial cancer, Do you remember that? But getting  
2 back to my other question, is it your testimony that if  
3 Dr. Kennedy was told that the pelvic washings were  
4 positive for malignancy -- this is my hypothetical --  
5 but that the surgical specimens absolutely did not show  
6 any cancer, did that --

7 A Let me just stop you there to make sure I'm  
8 reading what you're saying.

9 Q Thank you.

10 A That first he's being told that the pelvic  
11 wash -- you know, the cell block or the wash, whatever  
12 you wish -- actually has cancer, not suspicious, but  
13 has cancer --

14 Q Okay.

15 A -- and he has done his operation and knows  
16 that there's nothing there that he has seen. That's --  
17 I'm just trying to repeat what you have said to me.

18 Q No, the second part of that was that he's  
19 been told that the 'surgicalspecimens that he  
20 removed --

21 A Right.

22 Q -- that were sectioned, those sections didn't  
23 show any cancer.

24 A Okay.

25 Q Is it your testimony that Dr. Kennedy, if you

1 know what a gyn oncologist would do, would not have  
2 then looked for another source for a primary within the  
3 gyn tract for this patient first?

4 A I think, based on the experience from our  
5 clinicians, he would start looking or thinking about  
6 cancer from any source, which could include anything  
7 that might be left back in the gynecologic tract after  
8 the organs had been removed or from some other source.

9 Q Would you agree that with an oophorectomy,  
0 any suspicious lesions should be submitted for  
11 microscopic examination?

12 A If I understand the intent of that, yes.

13 Q Wouldn't you agree that positive pelvic  
14 washings and dense ovarian adhesions are two very  
important clinical pathologic findings with respect to  
16 gyn cancer for a patient?

17 A I don't understand the intent of what's being  
18 asked there.

19 Q Obviously, positive pelvic washings are  
20 significant in terms of clinical pathologic relevance?

21 A Right.

22 Q Okay. Are you aware or do you agree that  
23 dense pelvic adhesions share the same significance from  
24 a clinical pathologic standpoint?

25 A No, I don't.

1 Q You don't agree?

2 A As you've asked the question, no, I don't.

3 Q Okay. Well, do you want to change the  
4 question in any way, if you don't like the way I asked  
5 it?

6 A Yeah. Any time that I -- that there are  
7 dense adhesions, you obviously wonder what's causing  
8 the adhesion. You certainly think about it. You just  
9 don't blandly pass it by. But it doesn't particularly  
10 mean there's a cancer. It could be inflammation. This  
11 lady certainly had a Dalkon shield in the past. So you  
12 just wonder what are the other thoughts. So you just  
13 give some extra thought to it.

14 Q There was no evidence of pelvic extension of  
15 this disease back in '80 -- I mean '99 -- excuse me --  
16 was there?

17 A What do you mean by "pelvic extension."

18 Q What's your understanding of that term?

19 A That's what I was asking you. It's a very  
20 vague term. If someone said, Is there pelvic disease?  
21 You know, there's multiple foci; there's scarring;  
22 there's -- what he means -- what he calls  
23 endometriosis, which could be seen as probably multiple  
24 fibrotic deposits. To me, that's -- and if that were  
25 all cancer, that's extension. It just means that there

1 are multiple areas that are abnormal.

2 Q So using the ovarian staging, for example, as  
3 you applied it before, pelvic extension of disease  
4 would be a Stage II, correct?

5 A IIC in the pelvis.

6 Q And would you agree the patient's diagnosed  
7 with early stage ovarian cancer -- I'm sorry.

8 Patients diagnosed with early stage  
9 disease confined to the ovary or pelvis demonstrate  
10 a five-year survival rate of 80 percent?

11 A No.

12 Q So if that appeared in one of your pathology  
13 texts, was that an error?

14 A It depends -- again, we're playing like a  
15 scholar here. If it's ovarian and confined to the  
16 ovary, it's one stage. You used the word "pelvis."

17 To me, "pelvis" can also mean that you  
18 have tumor that's spread throughout the pelvis or  
19 it's in multiple areas. So then you suddenly  
20 change, if you're using an ovarian classification,  
21 from a stage, potentially, like a IA to a Stage IIC.  
22 Big difference. So we'd have to dissect exactly how  
23 you're using the words each time.

24 Q Well, early stage disease would not refer to  
25 a IIC, would it? This is your language.

1 A I'm sure.

2 Q Early stage disease does not refer to a Stage  
3 IIC, does it?

4 A Generally not.

5 Q Okay. So when you state: *The patients*  
6 *diagnosed with early stage disease confined to the*  
7 *ovary or pelvis demonstrate a five-year survival rate*  
8 *of 80 percent*, is that incorrect?

9 A Show me what I've stated there, please.

10 Q Chapter 19, page 532.

11 A I presume you're talking about my book?

12 Q I am.

13 A Can you show me because --

14 Q Okay.

15 A May I take a break for a moment?

16 Q Yeah.

17 (RECESS TAKEN FROM 11:39 AM UNTIL 11:41 AM.)

18 Q (By Ms. Nissenberg) I was showing you, I  
19 believe -- did I get to it? It's 532 in your prognosis  
20 and treatment under "Malignant Lesions," the part that  
21 I've underlined.

22 A Which chapter is this? What --

23 MR. BONEZZI: Chapter 19.

24 THE WITNESS: Chapter 19. So we're dealing  
25 with ovarian tumors. Show me where you want me to

1 read.

2 Q (By Ms. Nissenberg) I was just asking if  
3 this statement is correct, the part that is underlined  
4 which is the quote that I gave you.

5 (WITNESS REVIEWS DOCUMENT.)

6 A Good. I'm ready.

7 Q Is that still your opinion?

8 A This is a generic statement, so for purposes  
9 of this trial, you have to define what we mean by  
10 "early stage disease," you know, in the pelvis.

11 Even under the FIGO system, early stage  
12 disease -- and in a case like this could be, you  
13 know, potentially ovary just touching the fallopian  
14 tube, which makes it a Stage II, which would have a  
15 very different prognosis than a tumor that's more  
16 extensive.

17 So -- and this is also generically for  
18 all -- you know, for all lesions. And on top of  
19 that, we're talking -- well, this is the whole gamut  
20 of ovarian tumors, including those that are  
21 malignant, but low -- you know, low order  
22 malignancy.

23 So you cannot take a statement like this  
24 and apply it directly to a case like this.

25 Q So you're -- I'm sorry. You're including LMP

1 tumors in this statement?

2 A I think this is for -- no, I don't think the  
3 LMPs are in here.

4 Q Because they wouldn't appear under a  
5 subsection entitled "Malignant Lesions"?

6 A But there are, you know, whole ranges of  
7 tumors, like the granulosis cells, which are also lower  
8 orders that would be considered malignant lesions but  
9 have a very high prognosis rate.

10 So you've picked something up out of one  
11 chapter that's a generic about all ovarian tumors  
12 and trying to apply it to something very specific.

13 Q And you can have malignant degeneration of an  
14 endometriosis implant and another mass in the ovary;  
15 isn't that true?

16 A Well, certainly.

17 Q And some malignant degeneration of  
18 endometriosis is a very slow process while others are  
19 quick or faster; is that true?

20 A Some are faster, but I suspect almost all  
21 these tumors are slow in the development.

22 Q And when do you think Mrs. Huston's cancer  
23 was first diagnosable?

24 A It's a very tough question. With all the  
25 skills that the clinicians had and the pathologists had



1 where he thought it was in April of '99 and what stage  
2 he thought it was, if he could stage it. But he has  
3 not told me when it was first diagnosable.

4 **MR. BONEZZI:** You then asked the question as  
5 it related specifically to pathology and I indicated  
6 that he has been talking about the pathology for two  
7 and a half hours.

8 **MS. NISSENBERG:** But he hasn't answered this  
9 question nor have I asked it.

10 **MR. BONEZZI:** Yes, you have.

11 Q (By Ms. Nissenberg) What answer did you give  
12 when I asked it before, Doctor? When was this cancer  
13 first diagnosable? Because I missed the answer if I  
14 asked it before.

15 A It certainly wasn't at the time of 1999  
16 because --

17 **MR. BONEZZI:** She didn't ask you because.  
18 She asked you when it was diagnosable.

19 **THE WITNESS:** I know it. I know it. I mean,  
20 it's -- it would be sometime between then and the year  
21 2000. I can't give you an answer.

22 Q (By Ms. Nissenberg) Okay. Well, was it  
23 diagnosable by June or July of April -- of 1999?

24 **MR. BONEZZI:** Objection.

25 **THE WITNESS:** It certainly was not by

1 April of '99.

2 Q (By Ms. Nissenberg) Well, that's what you've  
3 already said. It was not diagnosable at the time of  
4 the surgery.

5 A Right.

6 Q Between then and June of 2000, when did it  
7 become diagnosable, in your opinion?

8 A I couldn't even start to give you an answer.

9 Q And you can't say how differentiated the  
10 endometriosis cancer was in April of 1999, can you?  
11 You cannot tell me the degree of differentiation since  
12 nobody saw it in April of '99, correct?

13 A Having seen many, many adenosquamous tumors,  
14 you know, that have occurred and then reoccur over a  
15 period of time, they don't get worse because they start  
16 out very badly, you know, very poorly differentiated.

17 Given that we've seen two -- in this lady,  
18 two biopsies with adenosquamous, my impression is  
19 that the adenosquamous that she had early on and  
20 whenever it began was as poorly differentiated then  
21 as it was when she had the vaginal recurrence and as  
22 the small bowel tumor.

23 Q You've never seen these tumors  
24 de-differentiate over time?

25 A No, because they're bad at the beginning.

1           Q     But you don't know personally because this  
2 tumor was not excised, resected, analyzed or anything  
3 else?

4           A     That's correct.

5           MS. NISSENBERG:   Okay.   I think I'm done.

6                               (PAUSE.)

7           MS. NISSENBERG:   I am done.

8           MR. BONEZZI:   We will read.

9           MS. NISSENBERG:   Thank you very much.

10          MR. BONEZZI:   I'll take a copy, please.

11                               (DEPOSITION CONCLUDED AT 11:49 AM.)

12                               (SIGNATURE RESERVED.)

## C E R T I F I C A T E

I, ROBIN J. SEYMOUR, a Registered Professional Reporter and Notary Public in and for the State of North Carolina, do hereby certify that there came before me on June 18, 2002, the person hereinbefore named, who was by me duly sworn to testify to the truth and nothing but the truth of his/her knowledge concerning the matters in controversy in this cause; that the witness was thereupon examined under oath, the examination reduced to typewriting by me personally; and the transcript is a true record of the testimony given by the witness.

I further certify that I am neither attorney or counsel for, nor related to or employed by, any attorney or counsel employed by the parties hereto or financially interested in the action.

IN WITNESS WHEREOF, I have hereto set my hand and affixed my official seal, this the 21st day of June, 2002.



Robin J. Seymour  
ROBIN J. SEYMOUR, NOTARY PUBLIC  
My Commission Expires 9-7-03

**Bonezzi Switzer Murphy & Polito Co. L.P.A.**

Attorneys at Law

Leader Building, Suite 1400  
526 Superior Avenue  
Cleveland, Ohio 44114-1491  
(216) 875-2767  
Telecopier (216) 875-1570

William D. Bonezzi  
Julie A. Callsen\*  
Anthony P. Dapore  
Steven J. Hupp  
Kevin O. Kadlec  
Lynette E. Kiss  
Patrick J. Murphy

John S. Polito  
Patrick J. Quallich  
Susan M. Reinker\*  
Beth A. Sebaugh  
Timothy G. Sweeney  
Donald H. Switzer

\*Also Registered Nurse

**PERSONAL & CONFIDENTIAL**

March 6, 2002

Stanley J. Robboy, M.D.  
Department of Pathology  
Duke University Medical Center  
Erwin Road - P. O. Box 3712  
Durham, North Carolina 27710

Re: Patient - Connie Huston, Deceased  
E/O Huston vs. The Cleveland Clinic  
BSMP File No. 240143


Dear Dr. Robboy:

First of all, I wish to thank you for your willingness to review the enclosed slides and records on behalf of my client, The Cleveland Clinic Foundation (CCF). I am currently defending CCF concerning a suspected primary ovarian cancer. I use the term "suspected", since it is uncertain whether the Decedent did, indeed, have a primary ovarian carcinoma. The following information details The Clinic's interaction with Connie Huston, Deceased.

Mrs. Huston was a 54 year old, white female, who presented to the Clinic in April of 1999. On April 29, 1999, she underwent a surgical procedure performed by Alexander Kennedy, M.D., wherein he performed a total abdominal hysterectomy, with a bilateral salpingo-oophorectomy. Prior to the actual removal and subsequent to entering the peritoneal cavity, Dr. Kennedy obtained fluid, which was sent to Pathology/Cytology for interpretation of the pelvic washing. Also sent to Cytology was a thin prep.

Intraoperatively, a portion of ovarian tissue was sent to Pathology for an intraoperative interpretation. I am enclosing a copy of the pathology report for your perusal, which concludes with information pertaining to the "frozen section", which was interpreted as benign. Ultimately, the tissue removed, which was placed in cassettes A and B, was reported out as being benign. Mrs. Huston left the Clinic, and did not return until June of 2000.

Approximately 11 months later, i.e., in March of 2000, and while at an aerobic class, Mrs. Huston started to have back pain. She was seen by her family practitioner, who felt that her concerns were related to lumbosacral strain. However, in June of 2000, as a result of vaginal bleeding, she presented to the Clinic's ER. Ultimately, a vaginal biopsy was obtained, and this specimen was interpreted as being positive for an adenosquamous carcinoma of the vagina.



Stanley J. Robboy, M.D.  
March 6, 2002  
Page Two.

Eventually, it was thought that Mrs. Huston had a primary ovarian cancer. As a result, on July 13, 2000, Mrs. Huston was seen by Maurie Markman, M.D., a Medical Oncologist with a subspecialty in Gynecologic Oncology. Dr. Markman felt that Mrs. Huston might benefit from chemotherapy. Carboplatin was administered (x1), and then she received Taxol on two other occasions. The chemotherapy received did not provide any relief. Dr. Markman has informed me that he felt that Mrs. Huston's carcinoma was refractory to any treatment. Mrs. Huston died on September 9, 2000.

In conversing with many of the physicians at the Clinic, and specifically Alexander Kennedy, M.D., I am now of the belief that Mrs. Huston did not have a primary ovarian tumor. It is Dr. Kennedy's absolute belief that Mrs. Huston suffered from a "neoplasm arising from endometriosis". After Dr. Kennedy provided this information to me, I reviewed "*Blaustein's Pathology of the Female Genital Tract (3rd Ed.)*" to familiarize myself with this phenomenon. In further discussion with Dr. Kennedy, it is his belief that the progression, or transformation, of the endometriosis into the neoplasm occurred in the posterior cul-de-sac. I have spoken with Charles Biscotti, M.D., at the Clinic, Head of the Department of Cytopathology, to confirm that the biopsy obtained of the vaginal cuff in June of 2000 indeed demonstrated an adenosquamous component. Dr. Biscotti confirmed this.


I have enclosed the following for your review:

1. 04/29/99: Specimen S99-20540 (recuts);
2. 04/29/99: Specimen C99-17617 (original slides);
3. 06/13/00: Specimen S00-30398 (recuts);
4. 08/11/00: Specimen S00-41742 (original slides ?);
5. Connie Huston's CCF chart; and
6. Plaintiff's expert reports authored by William D. Tench, M.D., and Regis J. Weiss, M.D.

Our expert reports are due in this case on April 1, 2002. I look forward to meeting with you on Tuesday, March 19, 2002, to discuss this case.

I await your reply.

Very truly yours,



William D. Bonezzi

WDB/mmk  
Enclosures

(Federal Express Overnight Delivery)