

1 THE STATE OF, OHIO,)
2) SS: CURRAN, J.
3 COUNTY OF CUYAHOGA.)

4 IN THE COURT OF COMMON PLEAS
5 CIVIL BRANCH

6 BALDWIN DUNCAN,)
7)

8 Plaintiff,)


9 vs.)

10 ST. LUKE'S MEDICAL CENTER,)

11 Defendant.)

357484

) Case No. CV-
) C/A: N/A



12 EXCERPT OF TRANSCRIPT OF PROCEEDINGS
13 - - - -
14

15 Whereupon the following proceedings were had
16 before the Honorable Judge Thomas Patrick Curran,
17 in Courtroom 17-D, The Justice Center, Cleveland,
18 Ohio, commencing Monday, February 14, 2000 upon
19 the pleadings filed heretofore.

20 APPEARANCES :

21 Daniel J. Ryan, Esq.,
22 on behalf of the Plaintiff;

23 George M. Moscarino, Esq.,
24 Susan Massey, Esq.,
25 on behalf of the Defendant,

26 Kellie M. Reeves-Roper, RPR, CAT
27 Official Court Reporter
28 Cuyahoga County, Ohio

I N D E X

Direct Cross Redirect Recross

Plaintiff's Witnesses:

David L. Rollins, M.D. 3 42 74

Defendant's Witnesses:

N/A

E X H I B I T S

State's Exhibits: Marked Offered Received

N/A

Defendant's Exhibits:

N/A

- - - - -

1 TUESDAY AFTERNOON SESSION, FEBRUARY 15, 2000

2 * * * * *

3 The PLAINTIFF, to maintain the
4 issues on his part to be maintained,
5 called as a witness, DAVID L. ROLLINS,
6 M.D., who being first duly sworn was
7 examined and testified as follows:

8 DIRECT EXAMINATION OF DAVID L. ROLLINS, M.D.

9 BY MR. RYAN:

10 Q Sir, the microphone does work. We'll ask
11 you to speak up so everyone has a chance to hear
12 you. Okay. Are you comfortable?

13 A Okay, that's fine. I see the chair
14 doesn't move.

15 Q Okay. Your name, sir?

16 A David Rollins.

17 Q Do you have a profession?

18 A I am a physician.

19 Q And would you share with the jury at this
20 time your background, your educational
21 background in obtaining that position of
22 becoming a physician starting with college?

23 A I went to college in Wisconsin at the
24 University of Wisconsin. Then I went to Chicago
25 Medical School. And then I went back to --

1 actually I went out to Kentucky for four years
2 and did a general surgery residency. And then I
3 went back to Milwaukee and did a vascular
4 surgery fellowship. And then I went into
5 practice as a full-time academic surgeon at the
6 Chicago Medical School. Then I ended up out
7 here.

8 Q Where are you licensed to practice
9 medicine, doctor?

10 A Currently in Illinois, Wisconsin and Ohio.

11 Q At what year did you complete your studies
12 in vascular surgery?

13 A 1981.

14 Q And are you Board Certified in vascular
15 surgery?

16 A I am.

17 Q Could you explain to the jury at this time
18 what Board Certification means in vascular
19 surgery?

20 A The American Board of Surgery is a board
21 for motion of the surgical areas. And you have
22 to be a general surgeon first so you have to be
23 certified as a general surgeon and then you can
24 take additional training in some different areas
25 like plastic surgery or vascular surgery. And

1 there is a certificate of competence, if you
2 will, for vascular surgery. Its not a separate
3 Board.

4 Q You are licensed to practice medicine here
5 in Ohio?

5 A Yes, sir.

7 Q When did you receive that license? Do you
8 recall?

9 A In 1989 when I moved out here.

10 Q Are you also licensed in the state of
11 Kentucky?

12 A I was. I don't believe that's an active
13 license.

14 Q And the state of Wisconsin?

15 A It is current.

16 Q And the state of Illinois?

17 A I think its current.

18 Q Do you feel qualified to offer medical
19 opinions concerning the area of medicine
20 covering vascular surgery?

21 A I do.

22 Q Okay. Showing you what has been marked as
23 Plaintiff's Exhibit 44, could you identify that
24 for me, please.

25 A That is a copy of a current curriculum

1 vitae.

2 Q Who is it for?

3 A For me.

4 Q Doctor, were you called upon to review the
5 records of the treatment and care of
6 Baldwin Duncan from January 18th, 1996, up to
7 and including March 10th of 1996?

8 A I was.

9 Q And what was that request made of you?
10 What were you to do?

11 A Well, the original request was made by
12 another attorney, not here, to evaluate the
13 record with respect to the conduct of the
14 parties. Particularly with respect to Dr.
15 Savrin.

16 Q All right. Did it make any difference to
17 you whether it was requested by one attorney or
18 another, or did it change anyway you did this
19 work?

20 A It did not.

21 Q All right. Have you been called upon to
22 do this in the past?

23 A I had been an expert before in other
24 cases, yes.

25 Q All right. And you've been called upon to

1 offer opinions in the vascular surgery area?

2 A I have.

3 Q And have they been accepted?

4 A As far as I know.

5 Q Okay. Have you had the opportunity to
6 give depositions? First of all to write reports
7 in this area?

8 A I have.

9 Q Have you also been called upon to give
10 depositions based upon those reports?

11 A That's correct.

12 Q And have you also appeared in court before
13 and offered testimony?

14 A I have on occasion.

15 Q And has -- you were permitted to offer
16 your opinions at that time?

17 A I was.

18 Q All right. Did you bring anything with
19 you today?

20 A No.

21 Q I'm going to show you a document. A
22 three-page document which has been marked as
23 Plaintiff's Exhibit 27. Can you identify that
24 for me, please.

25 A This is a copy of a letter. A report that

1 I sent concerning this case on June 25th, 1999.

2 Q All right. So you carried out the review
3 of those records. Correct?

4 A Yes.

5 Q And as a result of those records you
6 generated a report?

7 A I did.

8 Q And that's what's Plaintiff's Exhibit 27?

9 A That's correct.

10 Q Okay. Doctor, now, I'm going to ask you a
11 series of questions concerning that examination
12 that you have performed and everything, and also
13 the opinions that you hold as a result of that
14 examination. If you offer an opinion, doctor,
15 do you understand I need the opinion based on
16 reasonable medical probability? Do you
17 understand that?

18 A I do.

19 Q Secondly, doctor, are you familiar with
20 the term minimum standard of care in the medical
21 profession?

22 A I am.

23 Q Would you explain to the jury what that
24 is, please.

25 A It is honestly a very difficult thing to

1 define in one sense because it is a broad number
2 of actions that are acceptable for each type of
3 problem that occurs.

4 Q All right. In offering opinions in this
5 case, doctor, I would like you -- if I call upon
6 you to offer an opinion based on the medical
7 care and treatment that Baldwin Duncan has
8 received I wish you -- if you are offering those
9 opinions in using the term, "minimum standard of
10 care" I would like you to use that as the
11 minimum amount of medical care that Mr. Baldwin
12 should receive from a physician who was placed
13 in the position of taking care of him, or a
14 physician or an institution that's placed in the
15 same position of the same type.

16 A I will do that, sure.

17 MR. MOSCARINO: Objection.

18 Q Now, I would like to direct your
19 attention --

20 THE COURT: Just a minute.

21 MR. RYAN: I'm sorry.

22 - . . . - - -

23 (Thereupon, a discussion was had
24 between court and counsel, outside the
25 hearing of the jury and off the record.)

- - - - -

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: All right. I'll allow
Mr. Ryan to rephrase his question.

Q Doctor, I want to be sure that you
understand. When you are offering opinions as
to the medical care which is received which has
been provided to Mr. Duncan, that when you're
offering opinions about it I want you to both
base it on reasonable medical probability. You
understand that?

A I do.

Q Secondly, I wish you to base it upon that
minimum standard of care. And so you understand
what I'm talking about, doctor, it is that
minimum amount of care one is to receive from a
physician who is involved with the treatment and
care of a person like Baldwin Duncan. Do you
understand?

A I do.

MR. MOSCARINO: Objection.

THE COURT: Sustained.

Q Do you understand that this also includes
that this person who has provided treatment and
care of the patient that would be done in such a
way that you would expect this to be done in the

1 same or similar circumstances?

2 MR. MOSCARINO: Objection.

3 THE COURT: Sustained.

4 MR. RYAN: Your Honor, I'm
5 looking for a document that I need about a
6 minute to find,

7 THE COURT: Yes.

8 Q Let me do it again, doctor. Okay. Are
9 you ready?

10 A Okay.

11 Q When you offer opinions based on
12 reasonable medical probability, which I've asked
13 you to do is to decide or offer opinions that
14 the care provided to the plaintiff,
15 Baldwin Duncan by the defendant, Saint Luke's
16 Hospital through both Dr. Camp or Dr. Jackson or
17 others that it was of the type and quality
18 required of a physician who find themselves in
19 like or similar circumstances which the
20 plaintiff presented to the defendant from
21 January 31st, 1996 up to and including
22 March 10th, 1996 when the plaintiff's left leg
23 was amputated. Do you understand that?

24 A I do.

25 MR. MOSCARINO: Objection. Your

1 Honor, may we approach, please.

2 THE COURT: YES

3 - - - - -

4 (The reporter, a discussion has had
5 out in court and counsel, outside the
6 hearing of the jury and off the record.)

7 - - - - -

8 THE COURT: Yes, let me make
9 some observations to the jury

10 MR. RYAN: Thank you, your

11 Honor

12 THE COURT: And then I'll ask

13 the witnesses to keep in mind certain
14 principles and I'm going to repeat

15 this first of all, this is a law

16 known as medical malpractice. It's

17 against a hospital, and hospital acts

18 through human beings. So at the proper

19 time the Court will discuss with you the

20 duty of a hospital and the extent to which

21 a hospital can be held accountable for the

22 medical decisions and medical judgments of

23 a medical practitioner and other medical

24 personnel.

25 Now, one of the issues in this case

1 deals with specific standards of care.

2 "And the existence of a physician/patient
3 relationship or surgeon/patient
4 relationship imposes and places upon that
5 physician or surgeon the duty to act as
6 would a physician or surgeon of ordinary
7 skill, care and diligence under like or
8 similar conditions or circumstances. The
9 standard of care is to do those things
10 which such a physician or surgeon would
11 do, and to refrain from doing those things
12 which such a physician or surgeon would
13 not do.

14 "If at the end of this case after
15 you hear all the instructions of the Court
16 and judging all the credibility of the
17 witnesses -- under standards that I will
18 give you -- at the proper time you find by
19 the greater weight of the evidence, that
20 is to say by the preponderance of the
21 evidence that the Defendant, hospital
22 failed to use that standard of care which
23 I have described for you, at this time
24 then you may find that the hospital
25 committed malpractice.

1 "Now, if you do find that the
2 hospital committed malpractice you'll then
3 be called upon to determine whether or not
4 there is a connection between the claimed
5 injury of this plaintiff and the
6 allegations of negligence. You'll be
7 called upon to determine whether or not
8 this plaintiff lost his lower extremity
9 because of negligence." So essentially
10 you'll be called upon to decide three
11 overall points.

12 First, did the Defendant, hospital
13 commit medical malpractice or negligence;

14 Secondly, if the answer to that
15 question is yes, is there a relationship
16 between that negligence on the one hand
17 and the claim of injury or loss of limb on
18 the other hand;

19 And third, if you find that there
20 was negligence, if you find that there was
21 a proximate cause connection, what is the
22 measure of damages that you will
23 contribute to this event.

24 Now, for doctors in training such as
25 interns, residents or fellows the standard

1 of care is that of a doctor of ordinary
2 skill, care and diligence at the same
3 stage of his or her training under like or
4 similar circumstances. All right. You
5 may proceed.

6 MR. RYAN: Thank you, your
7 Honor.

8 BY MR. RYAN:

9 Q The Court has now correctly stated --
10 which I was trying to state -- the standard of
11 care. You understand it now, doctor?

12 A I do.

13 Q And will you offer opinions based on that
14 standard then?

15 A I will.

16 Q All right. You have in front of you,
17 doctor, two exhibits which I have marked
18 Plaintiff's Exhibit 45 and Plaintiff's Exhibit
19 46. I would represent to you that they have
20 been provided to me as the original records
21 documenting the treatment and care which
22 Mr. Duncan has received at the Saint Luke's
23 Medical Center. All right?

24 A That's fine.

25 Q Would you take a look at them and see if

1 those are -- if you had the opportunity to
2 review those documents in preparing the report?

3 A I did have an opportunity to review copies
4 of these. Not the actual records.

5 Q Those copies, are they the same documents
6 which you have in front of you?

7 A They are purported to be and I have no
8 reason to believe they weren't.

9 Q Okay. Besides the medical records then
10 what else did you review in preparing that
11 report?

12 A I reviewed the deposition transcript of
13 Dr. Savrin and Dr. Camp and Dr. Jackson. And I
14 believe there was a copy of the original
15 complaint and also two or three expert reports,
16 plaintiff's expert reports that I looked at.

17 Q In carrying out that review, doctor, were
18 you able to make a determination at that time
19 what was the treatment and care that Mr. Duncan
20 received there at the Saint Luke's Medical
21 Center?

22 A I'm not sure I can answer it that way.
23 Can you be more specific?

24 Q All right. Starting on March 18th, 1996,
25 were you able to make a determination that

1 Mr. Duncan came under the treatment and care of
2 the people there at the Saint Luke's Medical
3 Center?

4 MR. MOSCARINO: Objection to the
5 date.

6 Q I'm sorry, January 18th. Did I say March?
7 I'm sorry, January 18th, 1996.

8 A Yes, I did.

9 Q All right. If you wish you can use those
10 records as we go along, doctor, if you wish.

11 A All right.

12 Q And what brought him there? Do you
13 recall?

14 A He had a significant problem with his
15 kidneys and -- also probably caused by lupus
16 which also just caused a whole bunch of other
17 problems with his legs and caused him to clot
18 off, the arteries in his legs.

19 Q Did there come a point in time when he
20 required the care of a vascular surgeon?

21 A There did.

22 Q When did that happen and what brought that
23 about?

24 A I believe Dr. Savrin was consulted earlier
25 because when you have someone whose blood

1 vessels all of a sudden close off that is an
2 urgent situation. And I think Dr. Savrin saw
3 him on the 18th or the 19th.

4 Q Did there come a point in time when
5 Mr. Duncan required surgery to that leg?

6 A Yes, it did.

7 Q Could you also make reference of that date
8 if you would, doctor, please,

9 A I would be happy to. Excuse me for not
10 being comfortable with the organization of the
11 chart, It will just take me a second here.

12 It appears that Dr. Savrin -- and I can't
13 find his consult right here -- yeah, saw the
14 patient on the 18th of January, 1996.

15 Q Was there -- after seeing the patient did
16 he commence any type of treatment at that time?

17 A Well, he didn't. He himself did not
18 commence the treatment. What occurred was the
19 patient -- when Mr. Duncan had clotted off all
20 the vessels, it was decided the best thing to do
21 was to try to dissolve the clot out instead of
22 operate on him. So that was started by the
23 radiologist,

24 Q Was that successful?

25 A Actually it was very successful for a day

1 and-a-half. And fortunately it cleared most of
2 the blood clot out of the top part of the leg
3 all the way down to the knee. But there was
4 incomplete clearing in the calf, possibly
5 because the blood clot had been there for a long
6 time.

7 And so as I was involved in this case on
8 the 20th, the problem was that when you use the
9 dissolving clot buster it causes clots
10 everywhere to dissolve. So what happens is if
11 he had started re-bleeding, and he started
12 actually re-bleeding through his arm so you then
13 have to stop it because you don't want him to
14 bleed anymore. Then you have to go to plan B.
15 And plan B is to actually see him to surgery and
16 try to take the clots out by hand. And that's
17 what was done on the 20th.

18 Q Did Dr. Savrin have anything to do with
19 the treatment and care involved with that
20 specific surgery?

21 A Not with that specific surgery. I was
22 on-call that night for our partnership and I was
23 the one who was involved in the actual
24 operation.

25 Q What operation was performed?

1 A When we looked at the pictures to see what
2 was blocked it was clear that almost all the
3 blockage was in the three arteries down in the
4 calf. And these are very tiny. The inside is
5 no bigger than a pencil lead so they are pretty
6 small. And what we did was to make an incision
7 and open up the space between the big calf
8 muscle and the bone below the knee, find the
9 arteries that are right there and as we say,
10 dissect them out, which means basically you get
11 them so you can see them and then open them **up**.

12 And we have a little catheter, a little
13 tube. And its a tiny little tube. Then you put
14 a balloon on it, then you pass the catheter down
15 and blow the balloon **up** and push it back. Its
16 easy to go down but when you pull back you pull
17 back all the clot. And we were able to get a
18 moderate amount of clot of all three of the
19 blood vessels. Two of them were already totally
20 blocked and the third one was mostly blocked.

21 And then during the course of this what
22 you do is you also shoot some dye in, some
23 radiopaque dye so you can actually see a road
24 map of what the vessels look like. And the road
map confirmed that there was basically one blood

1 vessel which was open all the way but it had
2 some blockages. And this was most likely some
3 of the blood clot that just hadn't been
4 dissolved, Now, the good news is that if you
5 open the blood vessels so there is blood going
6 through it, it may well dissolve by itself later
7 on.

8 Q Correct me if I'm wrong. That was to
9 re-establish a pulse for -- down in the lower
10 part of the foot?

11 A The goal was to save his leg. Because if
12 nothing had been done he would have lost his leg
13 at that point in time.

14 Q Okay. What was the result of the
operation then?

15 A Well, it was not just the operation. The
16 blood thinner plus the clot buster plus the
17 operation resulted in what we call limb salvage,
18 which means we saved his leg. And also he had a
19 pulse in his foot which is always a good sign
20 because it means there is a direct connection
21 all the way down, So that was positive.

22 Q From January 21st up until January 29th,
23 was doctor -- did Dr. Savrin then assist in the
24 care of that patient?
25

1 A Dr. Savrin and I basically had an
2 agreement that since he was down at Saint Luke's
3 a great deal of the time because of his duties
4 there that he would take care of the Saint
5 Luke's patients if I asked him. I asked if he
6 would please do that, yes.

7 Q Was there any involvement at all of the
8 residents there that were there, too?

9 A Well, you have to understand that there is
10 a residency training hospital and so therefore
11 all of the care goes to the residents. It's
12 very uncommon for the attendings -- for us to
13 get involved directly because we try to teach
14 them what to do. And if they don't have the
15 hands-on then its hard to train them. It
16 doesn't mean that they just do what they want,
17 it just means that they are under our direction
18 and do alot of the actual hands-on stuff.

19 Q Okay. At that point can you make a -- do
20 you know the term -- have you heard the term
21 staff and private in regards to patients?

22 A Yes, sure.

23 Q Do you know that term in the context of
24 patients there at Saint Luke's Medical Center?

25 A I believe I do. I was there for a couple

1 of years and worked carefully with everybody.

2 Q Could you explain to the jury -- when you
3 use the term staff and private -- can you
4 describe them please with regard to patients?

5 A The answer is yes, I can distinguish them.
6 But it always changes. And I'll see if I can
7 explain that. The patient who have a physician
8 who sends the patient into the hospital has his
9 own physician. That's a private patient. And
10 that private patients comes into the hospital
11 under the care of the doctor. Now, all of the
12 residents are involved in the care. It is still
13 that doctor's patient, but the residents then
14 will call up another doctor or call another
15 resident and say, "We need a vascular consult."
16 And at that point the vascular surgeon say would
17 go in and see the patient.

18 Now, for the vascular surgeon this patient
19 may end up being a private patient or a staff
20 patient. It depends on a whole bunch of
21 circumstances. Let me give you the example. If
22 you have a patient who the internist has seen
23 for a long time and he sends the patient to the
24 hospital, to the eye clinic, the eye clinic
25 obviously is a staff clinic. And so even though

1 he's a private patient he gets seen as a staff
2 patient.

3 On the other hand, if he is a staff
4 patient and I operate on him and need to see him
5 afterward then I could bring him into my office
6 as a private patient. So it is more the
7 location of where the patient gets the service
8 than it is to designate them directly as, "Well,
9 he's mine," or, "He's yours." And this is quite
10 common and usual in a training program.

11 So basically we try -- when we train the
12 residents we try not to distinguish between one
13 type of patient or the other because we treat
14 them all the same anyway. But in terms of the
15 outside responsibility, for certain conditions
16 they may be divided. That is they may have two
17 or three private doctors taking care of the
18 heart and lungs but the eyes and the feet are
19 being taken care of by someone else. So it
20 could be pieces and parts. Its not just one
21 designation.

22 Q Was Baldwin Duncan a private patient of
23 Dr. Savrin?

24 A Not to my knowledge.

25 Q Okay. Was there anything in your review

1 of the record that would give you any indication
2 that he was a private patient in your review of
3 the record?

4 A That he was?

5 Q Yes.

6 A No.

7 Q In your review of the records were you
8 able to make a determination when Dr. Savrin
9 completed his involvement with the treatment and
10 care of Baldwin Duncan?

11 A I believe it was on the 29th of January
12 that he signed off the case. The surgical
13 staples in the incision were removed. The wound
14 was healing and at that point Dr. Savrin had
15 completed the acute care or the first care, the
16 vascular care.

17 Q Doctor, in reviewing the medical records
18 there and the other documents that you have
19 identified were you able to form an opinion if
20 Dr. Savrin had met the standard of care and
21 treatment of that patient?

22 A Absolutely for this condition.

23 Q And your opinion?

24 A That he did indeed meet the standard of
25 care for this condition.

1 Q All right. When he removed himself off
2 the case or when he indicated the vascular
3 service will be no longer involved, did that --
4 in your opinion did that meet the standard of
5 care?

6 A It did meet the standard of care. And
7 what happens quite frequently is that after we
8 take care of an acute problem there is no
9 necessity to follow-up. On the other hand, if
10 you do a bypass or do something that places a
11 patient at risk for not working, well, then I
12 say you're sort of married to the patient.
13 You're stuck with each other forever.

14 But in a case where you come in and say,
15 "Take a blood clot out," that usually is a
16 one-time deal and they don't get worse from just
17 taking the blood clots out. If they are out for
18 10 days they should do all right.

19 Q Doctor, in reviewing those records did you
20 come across a study, a microbiology study that
21 was done on or about February 9th, 1996? And I
22 will direct your attention specifically to
23 Plaintiff's Exhibit 43.

24 A Okay.

25 Q Was that part of the record, doctor?

1 A That was part of what I reviewed, yes.

2 Q Is that also contained there in the
3 original records that I have given to you?

4 A I don't know that. I would have to look.

5 Q Would you please.

6 A The answer to the question is, if it is
7 here I'm not sure exactly -- okay, I found it.

8 Q Okay. In comparing that document to the
9 one that you have in front of you, does that
10 have any written notes on the one that's the
11 original one?

12 A The original one has written notes in it,
13 sure. Unless I'm looking at a copy. Yes, there
14 is actually two reports in here.

15 Q Okay.

16 A One is a preliminary report dated on
17 February 9th, 1996. And the second one is a
18 final report which is issued later. And I think
19 I'm not saying that right.

20 Q The exhibit that I --

21 A The exhibit that you had showed me is a
22 report that was reported on 2-11. And the
23 report, the second report that I saw was a final
24 report that looks like it was issued on 2-13,
25 two days later.

1 Q The exhibit that I handed you was that the
2 preliminary report, doctor?

3 A That is a preliminary report.

4 Q Doctor, do you recognize there appears to
5 be some hand writing on the side of that report?
6 Do you recognize that?

7 A Yes.

8 Q And whose is that?

9 A That's Dr. Savrin's writing.

10 Q In reviewing Dr. Savrin's deposition were
11 you able to make a determination at that time if
12 Dr. Savrin had ever received that report?

13 A Well, he had if he wrote on it.

14 Q Okay, But I mean in his deposition did he
15 indicate that he had received the report on a
16 specific date or anything?

17 A I believe he did.

18 Q All right. And the date that's noted on
19 there I think its February 12th.

20 A That's correct.

21 Q All right. In reviewing his deposition
22 did you become aware at that time what he -- how
23 he had received the report and then what he did
24 with it?

25 MR. MOSCARINO: Object to the form.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: Overruled.

A My understanding from his deposition --
and I believe it was a discussion with him at
one point -- is that the report was received and
forwarded to Linda Camp's mailbox as a courtesy
consult.

Q All right. Doctor, who ordered that test?

A It says Dr. Camp ordered it.

Q And where was that? The results of the
test, where was it sent to? Is there an
indication as to where you go to?

MR. MOSCARINO: Objection. Asked
and answered.

THE COURT: Come up here.

- - - - -

(Thereupon, a discussion was had
between court and counsel, outside the
hearing of the jury and off the record.)

- - - - -

Q Doctor, in carrying out your review,
including those reports which you have in front
of you that were generated on December -- on
February 9th, both the preliminary and the final
one, and also the subsequent appearance of
Baldwin Duncan there at the Saint Luke's Medical

1 Center, were you able to form an opinion as to
2 the treatment and care that he had received
3 first of all as of Dr. Savrin at that period of
4 time?

5 A I'm sorry, which period of time, please.

6 Q From January 31st up to and including
7 March 5th, 1996.

8 A At that point Dr. Savrin had signed off
9 the patient. He had taken care of the acute
10 problem and there was no further problem with
11 the vascular surgery as far as he knew.

12 Q Do you have an opinion as to the person
13 that ordered that report and the report that was
14 generated? Do you have an opinion?

15 A My understanding is that --

16 MR. MOSCARINO: Objection. May
17 we approach?

18 THE COURT: What did you mean?
19 Do you have an opinion **as** to the quality
20 of the medical care.

21 Q Do you have an opinion, as to Dr.
22 Linda Camp, that appears on that report as to
23 whether or not she met the minimum standard of
24 care required of her?

25 MR. MOSCARINO: Objection.

1 THE COURT: Overruled.
2 MR. MOSCARINO: May I approach?
3 THE COURT: No.
4 MR. MOSCARINO: May I be heard on
5 the record, please.

6 THE COURT: Later.

7 MR. MOSCARINO: Your Honor, I'd
8 like to proffer something.

9 THE COURT: Later. Move on.

10 A Dr. Camp, to my knowledge, cultured the
11 patient. And once having cultured -- once
12 having performed the culture of the patient the
13 standard of care then would have been to
14 follow-up with the culture to make sure that
15 those results were followed up.

16 MR. MOSCARINO: Objection. Move to
17 strike.

18 THE COURT: Overruled.

19 Q And how would you follow-up? What would
20 be required of her to follow-up what would be
21 expected of her?

22 MR. MOSCARINO: Objection.

23 THE COURT: Overruled.

24 A Well, the patient records are part of the
25 permanent medical records and they would go --

1 since Mr. Duncan was a clinic patient at that
2 point in surgery -- so they would have gone back
3 to the clinic itself for Dr. **Camp** to follow-up
4 with. And that's how -- usually what happens.

5 So there is an area where you can take a look at
6 the patient's records, the test results come in
7 and they are reviewed by the residents.

8 Q In looking at that particular report what
9 would be the follow-up that would be required to
10 meet the minimum standard of care? What type
11 of procedures?

12 MR. MOSCARINO: Objection.

13 THE COURT: Overruled?

14 A The patient has a report that shows that
15 there are bacteria growing. And again, I don't
16 know where they were cultured from. Nobody
17 would know that except for Dr. Camp who did the
18 culture. And so the standard of care would
19 require that she follow-up with the patient,
20 bring the patient back and evaluate whether the
21 patient really had an infection or didn't have
22 an infection.

23 Q On March 5th, 1996, did the patient appear
24 at the hospital with an infection in the
25 surgical wound site?

1 A On March 5th, yes, he did.

2 Q And was that documented?

3 A Very well.

4 Q And did it also document what type of
5 bacteria was bringing about or causing that
6 infection?

7 A Eventually the patient grew out
8 Klebsiella, which is one of the bacteria -- the
9 main bacteria I should say that was in it.

10 Q The test that was performed on
11 February 9th. Did that make a determination as
12 to what bacteria had been typed at that time?

13 A Well, there were three or four at that
14 point. And I would have to go back and compare
15 them exactly but Klebsiella was one of the same
16 ones in both reports. And I think there was E.
17 Coli and Strep in one -- in the ninth report.
18 And then back in March there was a different
19 bacteria.

2 Q All right.

2 A So they changed around a little bit.

2 Q Doctor, do you have a medical opinion in
2 regards to if proper treatment had been
2 instituted would Mr. Duncan have suffered or had
2 to require to have done to him an amputation of

1 his left leg on March 10th, **1996?** Do you
2 have an opinion?

3 A I do have an opinion.

4 Q And what is that opinion?

5 A My opinion is that had the infection been
6 treated earlier the patient would not have lost
7 his leg. And the second part of that is that
8 had the patient been treated differently when he
9 came in the hospital he wouldn't have lost his
10 leg.

11 Q Okay. Are you -- from March -- I'm sorry.
12 From January 31st up to March 5th, **1996** are you
13 identifying Dr. Linda Camp as that person, as
14 shown from the records, who performed the
15 clinical examination to obtain the swab, have
16 the test ordered and have the results sent back
17 to her?

18 MR. MOSCARINO: Objection.

19 THE COURT: Overruled.

20 A The normal way we do things in a hospital
21 is very simple. The doctor has to write the
22 order or have someone have the nurse write the
23 order for him or her. And that order basically
24 means that this person is the one who is
25 responsible for the test. And so Dr. Camp the

1 order was in her name.

2 And the normal way you would do stuff is
3 she should have been the one to have been
4 responsible for the test. Now, she could have
5 had a junior resident do the actual swab but it
6 is her responsibility to follow-up, to fill out
7 the order and to follow-up the results.

8 Q Dr. Camp holding that position of a third
9 year resident would she have the ability to
10 identify that problem and move forward on it?
11 Do you understand -- do you have an opinion on
12 that?

13 A I do. And to clarify a little, as we go
14 through residency training the residents get
15 increasing -- we call it graded responsibility,
16 which means we give them more and more and more
17 things to do that are more complex. And by the
18 third year -- by the end of the first year she
19 would have been able to -- any resident
20 would have been able to go ahead and deal with
21 this problem appropriately because there is a
22 very basic way we take care of patients.

23 Q Is this -- when Mr. Duncan presented
24 himself on February 9th, 1996, does he present a
25 vascular surgery problem?

1 **A** Mr. Duncan has alot of bad medical
2 problems at that point. His leg was swollen and
3 weeping. The kidney lost alot of protein which
4 helps to keep the water in the cells. And when
5 you lose all that you lose all the water out, **so**
6 that's why he was just swelling all up. And in
7 addition, he was taking high doses of Prednisone
8 which gets rid of inflammation and helps treat
9 the condition. His underlying condition of
10 lupus or vasculitis or whatever we're going to
11 call it. People with transplants take alot of
12 Prednisone. So he had a significant medical
13 condition that would -- could give him trouble.

14 Now, when he got there we don't have any
15 records in terms of what was done. There was no
16 writing other than the record itself and so
17 whatever paperwork which would have been
18 generated was lost somewhere. But the -- it was
19 obvious that he had something that was draining,
20 a wound or an open incision that was cultured
21 and so -- and it grew bacteria so therefore it
22 looked like he did have a problem. Some type of
23 infection.

24 **Q** Was that an infectious problem?

25 **A** Yes.

Q And that was an infection we could say at a surgery site or surgery incision?

A Well, assuming that the culture was taken from that site. It could be from anything. In point of fact unless you were there you wouldn't know because you can swab under your arm and it will grow alot of bacteria but you don't necessarily have an infection there. So you have to take a look at the patient along with the findings. Its very important to do that.

Q On March 5th, 1996, when he presented himself at the hospital Mr. Duncan presented himself at the hospital with left leg pain. Was that being brought about by a vascular problem?

A No. That was -- he had pretty severe sepsis. Pretty severe infection in the leg which was giving him high fever and chills. And he was a pretty sick puppy when he came in.

Q Would you expect that -- would that then be a medical problem or a surgical problem?

A Well, an infection is an infection. It could be medical or surgical. How you take care of the infection preliminarily is to go and put the patient on antibiotics and culture, and then if you determine you have a collection of pus or

1 basically all this dead stuff in the bacteria,
2 that prevents the body from healing so you have
3 to drain it. You have to go ahead and open it
up. Then it becomes a surgical problem.

Q In your review of the records of
Mr. Duncan were you able to make a determination
at that time if he had an abscess present there
in his lower leg?

A Well, he did.

Q Explain to the jury what that is and what
was going on in there, please.

A Well, when you do the incision, any
incision, especially the one below the knee
everything is separate. It's a very loose
space. The knee has to move. And what happened
is that some of the infection probably came
through the incision which didn't heal as well
because of the Prednisone and just would have
stayed there for a long, long time.

And after awhile it just collected more
fluid and more fluid. And since the incision
itself then closed over it, it couldn't get out,
And when something closes over and can't get out
then you form the abscess, you form alot of pus,
And that's what happened to Mr. Duncan. He just

1 basically kept growing the bugs inside and there
2 was no place to drain it out until such time as
3 the pressure forces the bacteria into the blood.

4 And when the bacteria gets in the blood
5 that's what gives you the fever and the chills
6 and stuff. So you get sick instead of draining
7 out when you start to dump them inside your
8 body.

9 Q You refer to the term, "bugs." To finish
10 it **up** is that what the antibiotics do? They
11 actually go in and kill the infection?

12 A They help the immune system quote, "kill
13 the infection." Without an immune system you
14 can't get rid of it at all. Basically its food
15 for the bacteria. Unfortunately its poison.
16 That's what we do, we poison the bacteria.

17 Q Okay. In review of the records from the
18 March 5th admission up until March 10th of 1996,
19 did Dr. Savrin have any further involvement in
20 this case?

21 A To my knowledge he was not part of the
22 records at all and was not involved in the care
23 at this point,

24 Q All right. In review of the records, who
25 was the person that first saw Mr. Duncan when he

1 arrived there at the emergency room on
2 March 5th, 1996?

3 A Well, I believe he arrived at the clinic
4 first and was seen by Dr. Camp.

5 Q All right. Dr. Camp is the one that
6 documented his problem and admitted him?

7 A Well, she did not admit him.

8 Q I'm sorry.

9 A But she was the person who orchestrated
10 the admission and got him into the hospital.

11 Q And based on her examination, her clinical
12 examination did she make a determination at that
13 time what was going on with Dr. Duncan's left
14 leg?

15 A She believed that there was an infection
16 in the left leg.

17 Q And did she characterize it in any way?

18 A As a cellulitis I believe.

19 Q Could you tell the jury what cellulitis --
20 what that is, please.

21 A Basically it just means inflammation of
22 the cells or swelling. And it is a sort of a
23 hot, hard, red tender area. And it is often
24 associated with an infection. It doesn't have
25 to be associated with an infection but when we

1 think of cellulitis we mostly think its an
2 infection.

3 Q Was there any notes in there on the
4 March 5th, notes that were sent down there by
5 Linda Camp that there had been any contact made
6 with Dr. Savrin?

7 A I don't recall. I have to look.

8 Q Would you please.

9 A The clinic note on that date states that,
10 "The patient has cellulitis and needs admission.
11 Rule out blood clots in the legs and the veins,"
12 And it was discussed with Dr. Lee.

13 Q And can you identify that Dr. Lee?

14 A I do not know Dr. Lee.

15 Q Okay.

16 MR, RYAN: Thank you, your
17 Honor. We have no further questions.

18 THE COURT: All right. We'll
19 take our mid-afternoon recess and we'll be
20 back here in about 10 minutes. Remember
21 the admonition of the Court. Everyone
22 rise for the jury, please.

23 - - - - -

24 (Thereupon, a break was had.)

25 - - - - -

1 * * * * *

2 THE COURT: All right. You may
3 proceed.

4 MR. MOSCARINO: Thank you, your
5 Honor.

6 CROSS-EXAMINATION OF DAVID L. ROLLINS, M.D.

7 BY MR. MOSCARINO:

8 Q Dr. Rollins, I have some questions for you
9 regarding your role here today and through the
10 course of this case. Okay?

11 A All right.

12 Q You are the partner of Dr. Donald Savrin.

13 Is that correct?

14 A That's correct.

1 Q You were his business partner in 1996. Is
1 that right?

1 A That's correct.

1 Q And you're his business partner today?

1 A That's correct.

2 Q And you and he are the sole shareholders

2 of the corporation known as Northeast Ohio

2: Vascular Associates, Inc. Is that right?

2: A That's correct.

2: Q And there is -- for example there is a

2: bill that bears your corporate name.

1 A It does.

2 Q You are the only two partners. Is that
3 right?

4 A Yes, sir.

5 Q And you're the president of the
6 corporation?

7 A Yes.

8 Q And you're here to testify for Mr. Ryan
9 today who is the plaintiff's counsel. Is that
10 right?

11 A Apparently so.

12 Q And -- well, that's who called you to the
13 stand, the plaintiff's lawyer.

14 A Yes.

15 Q He however is not the attorney who
16 originally requested that you review the
17 materials in this case.

18 A That's correct.

19 Q You have in front of you a report dated
20 June 25th, 1999 which has been marked as
21 Exhibit 27. Correct?

22 A That's correct.

23 Q Who did you write that report to?

24 A Mr. Robert Warner.

25 Q Who is Robert Warner?

1 A He was the attorney for Dr. Savrin and for
2 our corporation.

3 Q Dr. Savrin was a defendant in the case at
4 the time that you wrote the letter for
5 Mr. Warner. Isn't that right?

6 A That's correct.

7 Q And it was Mr. Warner who asked you to
8 review this case on behalf of Dr. Savrin. True?

9 A I believe so.

10 Q And in essence really you were also
11 reviewing it for your owner corporation. Isn't
12 that true?

13 A I never thought of it that way.

14 Q You expressed opinions in that report
15 regarding several health care professionals.
16 Didn't you?

17 A I did.

18 Q In fact what you did is you expressed an
19 opinion that Dr. Savrin complied with the
20 standard of care. Right?

21 A I did.

22 Q You also state the opinion there as an
23 expert that you, yourself, Dr. Rollins complied
24 with the standard of care. Isn't that right?

25 A I don't know if I stated it that way but I

1 guess so, yes.

2 Q Well, on page three you state, "In summary
3 it is my opinion that Drs. Savrin and Rollins
4 appropriately evaluated and treated the
5 patient's vascular condition in January of
6 1996." Correct?

7 A I stand corrected.

8 Q And at the time that you did that your
9 corporation was also named as a defendant.
10 Correct?

11 A Yes.

12 Q And the corporation's lawyer was also
13 Mr. Warner. Correct?

14 A Yes.

15 Q And you assessed him in the defense of the
16 corporation and Dr. Savrin. Did you not?

17 A I did.

18 Q You met with him and Dr. Savrin. Did you
19 not?

20 A I did.

21 Q And you were copied on letters from
22 Mr. Warner to Dr. Savrin's insurance company.
23 Correct?

24 A That's correct. As President of the
25 corporation.

1 Q And you were never contacted by Mr. Ryan
2 until after Dr. Savrin was out of the case.
3 Correct?

4 A That's correct.

5 Q Now, you also met with Dr. Savrin outside
6 of the presence of your counsel, Mr. Warner,
7 prior to issuing the report dated
8 June 29th, 1999. Is that right?

9 A I believe it was after the report had been
10 issued not before.

11 Q Well, strike that. Let me ask you a
12 different way. Prior to me taking your
13 deposition you met with Dr. Savrin regarding
14 this case. Correct?

15 A Yes.

16 Q And you discussed with him his version of
17 the events as to what happened in between
18 specifically the time period of February 9th,
19 and March 5th of 1995. Isn't that right?

20 A No.

21 Q Did your discussion with Dr. Savrin form a
22 basis of some of your conclusions in this case
23 with respect to what went on after this
24 patient's discharge from the hospital?

25 A No, it did not. Dr. Savrin did not add

1 anything. The primary stuff that we talked
2 about had to do with corporation and his dealing
3 emotionally with the lawsuit.

4 Q Did Dr. Savrin discuss with you his action
5 on February 9th, 1996 and thereafter?

6 A I do not believe that we discussed that
7 specifically. It may have been mentioned in
8 passing.

9 Q But you did discuss this case with him?

10 A I believe there were a couple of points we
11 discussed with him. And those points -- most of
12 it was with the lawyer present. But outside of
13 the lawyer I don't believe there was any germane
14 information that I didn't know before.

15 Q Dr. Savrin was accused by Mr. Ryan of
16 medical negligence and his treatment of your
17 patient. Isn't that right?

18 MR. RYAN: Objection, your
19 Honor. It was Mr. Baldwin who brought the
20 complaint, not Mr. Ryan. He characterized
21 me as being --

22 THE COURT: Sustained.

23 Q Let me rephrase it. In this case, as a
24 part of the process your partner, Dr. Savrin was
25 the subject of the allegations of medical

1 malpractice with respect to Mr. Duncan.

2 Correct?

3 A Correct.

4 Q And you knew at the time that you were
5 reviewing the materials. True?

6 A Yes.

7 Q And as part of your review process you
8 actually looked at some expert reports that
9 Mr. Ryan had obtained from other doctors who
10 were appearing as experts for the plaintiff.
11 Isn't that right?

12 A Yes.

13 Q You looked at a report from a gentleman by
14 the name of Dr. Lentnik from Georgia and another
15 person by the name of Ferenchek. Isn't that
16 right?

17 A Yes, sir.

18 Q And you know that the -- one of the
19 thrusts of those doctor's opinions was that
20 Dr. Savrin was negligent. Correct?

21 A Yes.

22 Q And that Dr. Savrin had abandoned this
23 patient. Correct?

24 A Yes.

25 Q And you happened to disagree with those

1 opinions.

2 A Very strongly.

3 Q What those doctors stated in their reports
4 based on your review is that Dr. Savrin failed
5 to properly follow-up with this patient after he
6 was discharged from the hospital on
7 January 31st, 1996. Isn't that right?

8 MR. RYAN: Objection, your
9 Honor.

10 THE COURT: Overruled.

11 MR. RYAN: May I be heard on
12 that then, please.

13 THE COURT: Go ahead.

14 A I'm sorry, I missed it. Could I have it
15 read back or something?

16 Q I'll just ask it again. Its probably
17 easier. You knew from the review of those
18 materials, Dr. Rollins, that Drs. Ferenchek and
19 Dr. Lentnik, the experts that were originally
20 secured by Mr. Ryan levied the allegation that
21 Dr. Savrin, your partner, had failed to properly
22 follow-up with his patient after this man was
23 discharged from Saint Luke's Medical Center on
24 January 31st, 1996.

25 THE COURT: That's the

1 question. Did you know that? Yes or no.

2 A I knew that one of them did. And I knew
3 that I was also included in that allegation.

4 Q Do you believe that you were also the
5 subject of those allegations?

A I think they used both our names.

7 Q Well, the fact of the matter is it was not
8 your job in this case, as I understand it to
9 follow-up with this patient once he left the
10 hospital.

11 A By our agreement, the way we take care of
12 patients it was not my responsibility.

13 Q You and Dr. Savrin had an agreement
14 whereby you split **up** the care in this case. And
15 my understanding from this morning's testimony
16 from Dr. Savrin himself is that he took care of
17 the patient upon admission until the time of
18 surgery. Right?

19 A Correct.

20 Q You were on-call so you did the procedure,
21 which is referred to in this blowup note.
22 Right?

23 A Yes.

24 Q And then you exited the premises and
Dr. Savrin then was responsible for the

follow-up?

A That's correct. He took care of that.

Q Now, the fact of the matter is Dr. Savrin is no longer on this case. Correct?

A No, he's not.

Q He settled out of this case. Did he not?

A He did.

Q Money was paid to the plaintiff on his behalf.

MR. RYAN: Objection, your Honor. This is --

THE COURT: Sustained. Its sustained.

Q The corporation settled out of this case also. Isn't that right?

15

A I assume. I'm not sure. I have not read or seen the --

16

17

Q The settlement in fact included you. Did it not?

18

19

A I don't think so.

20

Q If I make a representation to you that the settlement papers in this case encompass you, Dr. Rollins, your corporation and Dr. Savrin do you have any reason to doubt that?

21

22

23

24

A That would be news to me, and I would not

25

1 be happy about that. Thank you.

2 Q You would not be happy about that because
3 why?

4 A Because to my knowledge I was never sued.

5 C Now, with respect to that report that
6 you've issued, there is no --

7 THE COURT: Well, here. You're³
8 not suggesting that he was sued, are you?

9 MR. MOSCARINO: No. I never said
10 he was sued.

11 THE COURT: Oh, okay. All
12 right.

13 MR. MOSCARINO: I mean --

14 THE COURT: Well, he may have
15 misunderstood you.

16 Q You personally were not sued. We can
17 agree with that?

18 A No, sir. I would agree with that.

19 Q The corporation was sued.

20 A It was.

21 Q Savrin was sued.

22 A He was.

23 Q And release papers were drawn up by your
24 lawyer, Mr. Warner, that referenced the
25 corporation, Dr. Savrin and you. Did you

receive those?

A I did not.

Q You will agree with me that the report dated June 25th, 1999, does not indicate that Dr. Camp was negligent or that she failed to comply with the standard of care.

A No. I hinted it. I didn't state it directly.

Q Is the word Dr. Camp failed to comply with -- the standard of care -- or that phrase in that report?

A No.

Q You mentioned other people having to provide the standard of care in that report. Do you not?

A Yes.

Q You blamed a Dr. Jackson in that report. Didn't you?

A Primarily.

Q He was the thrust of your report. Was he not?

A Absolutely.

Q And with respect to Dr. Jackson, you used the words, "failed to comply with the standard of care." Didn't you?

1 A Yes. His actions were the proximate cause
2 of the patient losing the leg.

3 Q And all I'm trying to get you to agree
4 with me, if you can, so I can move on is that
5 Dr. Camp is not the thrust of this report and
6 Dr. Camp's actions are not referred to in words
7 such as negligent in the June 25th, 1999 report.

8 A I would agree that Dr. Camp's -- Camp is
9 not the thrust of the report but Dr. Camp is
10 part of the report. And some of her actions
11 were noted in there to not be appropriate. But
12 the word -- the words you're asking me if they
13 are in the report, that is that she failed to
14 administer the standard of care that was not in
15 the report.

16 Q After the settlement case do you know if
17 Mr. Warner and Mr. Ryan conferred regarding your
18 potential testimony?

19 A I assumed they probably talked. I have no
20 direct knowledge of any direct conversation they
21 may have had.

22 Q You told Mr. Warner about the criticisms
23 of Dr. Camp. Did you not?

24 A I believe I did,

25 Q Do you know if Mr. Warner then told

1 Mr. Ryan so that he could then call you as an
2 expert witness in this case?

3 MR. RYAN: Objection, your
4 Honor.

5 THE COURT: Sustained.
6 Sustained.

7 Q Doctor, with respect to Dr. Camp, she was
8 a resident physician in January of 1996. Right?

9 A She was.

10 Q You had worked with her on prior
11 occasions.

12 A I had.

13 Q And you worked with her subsequent to the
14 Duncan case until she completed her residency a
15 few years later.

16 A That's correct.

17 Q A resident physician is a physician in
18 training. Correct?

19 A Yes.

20 Q And that resident physician is supervised
21 by the attending physician. Correct?

22 A Yes. By law.

23 Q You corrected Mr. Ryan, or you clarified
24 something during your direct testimony in that
25 ~~Dr. Camp is a resident. She couldn't on her own~~

1 admit patients. Isn't that true?

2 A Except under emergency circumstances.

3 Q As a general rule an attending is not
4 required to admit the patient. True?

5 A That's correct.

6 Q The residents are hospital based. Isn't
7 that right?

8 A Absolutely.

9 Q They do their work within the hospital for
10 the most part.

11 A In a surgical residency that's true.

12 Although that's even changing now.

13 Q And in the usual case once a private
14 patient is discharged they usually don't see the
15 residents again.

16 A The answer to the question is in this case
17 no that's not true. Because again, the way the
18 system works at Saint Luke's is that the care
19 that the patients are given by the residents may
20 be part of the ongoing care and they may well be
21 followed up in the clinic. There are some
22 groups that are always there and some that are
23 sometimes there so they would often see these
24 patients again.

25 Q But in the usual case with the hospital

1 based residents with a private patient the
2 residents does not usually see the patient once
3 the patient is discharged.

4 A Again, I can't answer it that way. If the
5 patient has no further surgical problems I would
6 say yes. But if the patient had further
7 surgical problems he may be followed at the
8 hospital or he may be followed in another
9 surgeon's office.

10 Q Dr. Camp was not involved in the January
11 admission for Mr. Duncan. Isn't that right?

12 A I didn't see her name anywhere on the
13 chart.

14 Q You have no everyday to bring to this
15 court that she was part of the treatment team
16 from the time of admission on January 18th to
17 discharge on January 31st.

18 A That's correct.

19 Q As of January 31st, Dr. Camp had no
20 physician/patient relationship with Mr. Duncan.
21 Correct?

22 A I don't know how you define that.

23 Q When you operated on Mr. Duncan you had no
24 idea if he was a private or staff patient.

25 A That's correct. At that time I don't

1 believe I even knew the designation on how it
2 worked there.

3 Q The fact of the matter is this patient
4 when he was admitted was a private patient.
5 Isn't that right?

6 A I don't know. If you say so.

7 Q Let me draw your attention to the chart
8 which has been marked as Exhibit 45. Dr. Savrin
9 had marked certain pages in this chart,
10 specifically drawing your attention to
11 January 18th. This is a progress note.
12 Correct?

13 A Yes.

14 Q And Mr. Ryan already established progress
15 notes are the way that the doctors write what's
16 going on with the patient so they can
17 communicate to their care givers. Is that a
18 fair description?

19 A That's one way of communicating, yes.

20 Q In the upper right-hand corner there is a
21 credit card imprint of Mr. Duncan's name. Is
22 that right?

23 A That's correct,

24 Q That has his hospital number. Is that
25 right?

1 A Yes.

2 Q It has his name?

3 A Yes.

4 Q What does that line read?

5 A It says, "MED slash something:

6 Q ~~What does that say?~~

7 A I can't read the letter.

8 Q Is it PVT?

9 A It could be.

10 Q Does PVT connote and tell the outside

11 world that as of January 18th, 1996, Mr. Duncan

12 was a private patient?

13 A I believe it does because he's got Dr.

14 Sandhu's name on it.

15 Q So what that means is that of this date

16 pursuant to hospital policy Mr. Duncan has a

17 private patient admitted to the service of

18 Dr. Satnam Sandhu. Correct?

19 A That's how I interpreted it.

20 Q I have blown up for the jury's benefit and

21 the Court's benefit a note from January 20th of

22 1996. That's a note that you wrote post the

23 procedure that **was** performed by Mr. Duncan. Is

24 that correct?

25 A That's correct.

Q And that is a diagram of his lower leg vasculature showing some of the items that you talked to Mr. Ryan about. Correct?

A I didn't talk to Mr. Ryan about anything. It does show his vasculature.

Q Well, you did tell him about pulling the catheters out.

A I have never seen heard or met Mr. Ryan until 15 minutes before the deposition that he took.

Q Fine. As of January 20th, January 21st, what does the notation read as far as Mr. Duncan being a private or a staff patient?

14 A "Unless the patient changes services we
remain the same all the way through." I think.
16 I don't know that.

17 Q On January 29th that is the date that you
18 tell us that Dr. Savrin signed off on the
19 patient. Correct?

20 A I thought that's when the staples were
21 removed, yes.

22 Q Was Mr. Duncan a private patient or a
22 staff patient as of January 29th?

24 A From whose perspective?

25 Q From the hospital chart perspective?

1 A The hospital chart perspective doesn't
2 have anything to do with Dr. Savrin. He was
3 Dr. Sandhu's private patient and Dr. Sandhu was
4 possible for him in the hospital. But that does
5 not necessarily mean it was Dr. Savrin's
6 patient.

7 Q Drawing your attention to the date
8 January 29th, 1996, what does the upper
9 right-hand corner credit card imprint read as
10 far as Baldwin Duncan's status?

11 A It says that he is a -- again I don't know
12 what those first three letters are.

13 Q Can I represent to you that means private?

14 A It says that he's a private patient of
15 David Rollins.

16 Q Had you looked at that piece of paper
17 before today?

18 A Never even knew about it.

19 Q Your corporation does not bill for staff
20 patients. Isn't that right?

21 A I found that out. I think this was the
22 first patient. I did not know how the system
23 worked and I billed for this patient myself in
24 error.

25 Q You billed for the surgery in error?

1 A I did.

2 Q Are you aware of the fact that Dr. Savrin
3 claims that he billed for his consultation in
4 error?

5 A I don't know that.

6 Q Dr. Savrin did the bills for the most part
7 for your corporation. Did he not?

8 A We, we billed our own surgeries so I
9 submitted the bill. But he was responsible for
10 the overall billing of how it works. But he
11 doesn't look at my bills and decide whether I'm
12 billing it correctly or not. He would not have
13 known what I did unless he read the report later
14 on.

15 Q Now, drawing your attention to this book.
16 If I may, Dr. Rollins, this is an illustration
17 by you -- and tell me if I'm wrong -- of your
18 operative findings of your patient
19 Baldwin Duncan. Correct?

20 A That's correct.

21 Q Based on your surgery you found evidence
22 both of old clot and fresh clot. Correct?

23 A That's correct.

24 Q And so the jury understands, you performed
25 this thrombectomy. At the close of the case you

1 performed an arteriogram so you could further
2 find out what the status of Mr. Duncan's lower
3 leg vasculature was. Is that right?

4 A Right. What we did is put the balloon in
5 and pulled out **as** much clot as we can. Closed
6 it up, put the needle in the artery and shot
7 some dye in it, took an x-ray so you could see
8 the dye in the artery. And that's how that
9 picture was rendered because that's sort of a
10 representation of the x-ray.

11 Q Some of the clot was so adherent that you
12 were unable to remove it. Is that true?

13 A Yes. It had been there for awhile. It
14 was stuck to the wall of the artery.

15 Q And based upon your operative findings,
16 what you saw with the naked eye, can you tell us
17 what you saw in arteriogram? There were two
18 blood vessels that were totally occluded in
19 segments. Is that right?

20 A That's right.

21 Q And the third one had multiple stenosis.
22 Is that correct?

23 A That's true. There are pieces of blood
24 clot on the walls just sort of in a whole bunch
25 of places.

Q In spite of the fact that you were able to remove the clots, and despite the fact that you were able to restore pulses to this man's lower left leg he still had a very serious condition with respect to the blood flow to that extremity. Isn't that Right?

A No. The blood flow to the extremity was normalized and he was restored to good functional state at that point.

Q Because of what you found and what you diagrammed Mr. Duncan was at much greater risk for future limb loss. Isn't that correct?

A That's correct. When you have two to three blood vessels out and this third one is narrowed, the chances are that as time goes on you would close off the third one from something else. Plus he had his other blood clotting disorder that could cause a problem at any time.

15 Q With respect to what you found alone,
16 because of the fact that two were occluded and
17 one was problematic that in and of itself put
18 him at a higher risk for future limb loss.

19 Correct?

20 A That's correct.

21 Q Unfortunately for Mr. Duncan he also had a
22
23
24
25

1 condition known as anti-phospholipid syndrome.

2 Is that right?

3 A That was a biggie, yes. That is the main
4 problem.

5 Q And that was the medical reason as to why
6 he was clotting off in his lower left leg. Is
7 that right?

8 A Yes. He was making abnormal blood clot.

9 Q The combination of the anti-phospholipid
10 syndrome and what you found as diagrammed on
11 this blowup made it more likely than not that
12 Mr. Duncan was going to unfortunately suffer a
13 future loss of his limb.

14 A And I just want to point out I am not sure
15 in looking at that x-ray how much of that was
16 old disease and old narrowing -- which means
17 that he had preexisting hardening of the
18 arteries there -- and how much of it was just
19 what was left of the blood clot that had
20 dissolved. So I can't tell which was which.
21 But given blood vessels that look like that, he
22 is at risk for losing his leg later on.

23 Q And the combination of those two made it
24 more likely sooner rather than later that he was
25 going to suffer -- according to your words -- a

loss of his limb.

A Well, my opinion would be probably in five years or so.

Q In fact you told me at deposition that within four to five years it was your opinion to a reasonable degree of medical probability that unfortunately Mr. Duncan was destined to suffer an amputation of this same limb.

A That's correct.

Q So using the time period that we have to and going backward it would be your opinion that any time in between January of 2000 and January of 2001, to a medical probability this man was going to suffer an amputation of his lower left limb.

15

A Well, that's true unless he's in the other 49 percent. You can't know that.

16

17

Q You would have handled this case differently than Mr. -- than Dr. Savrin did.

18

19

Isn't that right?

20

A I don't understand what you're asking.

21

Q Once discharged from the hospital on January 31st, 1996, you would have seen this patient again. Would you have not?

22

23

24

A In my own practice, which is a private

25

1 practice solely, I would have followed up with
2 the patient usually in one or two weeks and then
3 seen him in three or four weeks after that.
4 That's my normal practice.

5 Q Its your normal practice as a vascular
6 surgeon in Cleveland, Ohio, with patients like
7 Mr. Duncan to follow them, and to see them four
8 to six weeks after the surgery. Correct?

9 A In my private practice, that's correct.

10 Q Well, your private practice is Northeast
11 Ohio Vascular Associates. Correct?

12 A At this point it is, yes.

13 Q And it was in January of 1996.

14 A It was -- well, I don't know. I think the
15 corporation had been formed so it probably was.

16 Q Whatever the case, the same practice which
17 has you as a partner for Dr. Savrin you've
18 established your normal routine to see patients
19 like this gentleman within four to six weeks
20 after surgery for follow-up. Correct?

21 A That is my pattern, yes, my choice.

22 Q And I assume that you practice standard of
23 care medicine in Cleveland, Ohio. Do you not?

24 A I think that those actions are very
25 acceptable, sure, that time frame.

1 ^ And to set up an appointment with this
2 patient and to follow him in an office four to
3 six weeks after discharge would comply with the
4 standard of care?

5 A Yes, sure.

6 Q If Dr. Savrin would have set up an
7 appointment to see this man within four to six
8 weeks he, your partner, would have complied with
9 the standard of care.

10 A He had that choice, sure.

11 Q You know that Dr. Savrin did not do that
12 in this case. Correct?

13 A Yes, I know he did not.

14 Q So your routine practice differed from
15 what Dr. Savrin did in this specific patient's
16 care. Correct?

17 A Because the patient was a staff patient
18 and not a private patient. Had the patient been
19 a private patient then Dr. Savrin would have saw
20 him up in his office and not through the clinic.

21 Q So its your opinion that if the man would
22 have been a private patient, Dr. Savrin would
23 have seen him?

24 A Absolutely.

25 Q When you sign off a patient and you're no

1 longer involved in his care do you tell the
2 patient?

3 A Usually, yes, or the family member. I
4 think I would let it be known somewhere.

5 Q Now, despite the fact that Dr. Savrin
6 claims he signed off the patient, you know that
7 Mr. Duncan himself called Dr. Savrin's office on
8 February 9th of 1996. Correct?

9 A I understand he did, yes.

10 Q Nothing prevented Dr. Savrin from making
11 an appointment with Mr. Duncan later that
12 afternoon or the following morning?

13 A He could have made an appointment, yeah,
14 sure.

15 Q And if he would have made an appointment
16 with the patient, that would have complied with
17 the standard of care?

18 A As long as he took care of the problem by
19 making an appointment, referring him to an
20 appropriate individual, either one would comply
21 with the standard of care. Remember, we're
22 working a residency system here not just private
23 practice like we are in the other areas.

24 Q But my question to you is this. If
25 Dr. Savrin had decided he wanted to see the

1 patient himself and had made an appointment
2 either that afternoon or on February 10th, that
3 action in and of itself would have been standard
4 of care?

5 A It would have been appropriate to do that
6 if he had chose, yes.

7 Q That would have been a good choice. Would
8 it not?

9 A It would have been one choice.

10 Q Did you tell him at your deposition that
11 that would have been a good choice?

12 A Yes, it would have been. Another good
13 choice would have been to refer him through the
14 existing system. I don't see a difference.

15 Q He could have sent the patient to see
16 Dr. Sandhu. Could he not?

17 A He could have done that.

18 Q That would have been a good choice?

19 A That would have been another appropriate
20 choice within the standard.

21 Q Your opinions in this case with respect
22 to Dr. Savrin are premised on the fact that you
23 believe this man was a staff patient.

24 A Well, I think in part because Dr. Savrin
25 tells me he's a staff patient. And as I

1 understand the way the system works that's very
2 consistent with what he does. He's one of the
3 most consistent people I know. But that's only
4 one part of it. The other part of it has to do
5 with the actual medical treatment in terms of
6 what care he rendered to Mr. Duncan and what was
7 required afterward.

8 Q With respect to Dr. Camp and the opinions
9 that you have given us today isn't it a fact, as
10 you told me at your deposition, that your
11 comments regarding her are premised upon your
12 belief that this was a quote, unquote "service
13 or staff patient?"

14 A No. The answer is, no, that is not why I
15 believe that Dr. Camp did not follow the
16 standard of care. Because since we do
17 everything through the residents, it doesn't
18 matter whether you're a service patient or a
19 staff patient or a private patient. When the
20 resident becomes involved, in order for them to
21 learn to do the right thing we say, "Here is a
22 problem. Take care of this problem. Report
23 back or take care of it through whatever." And
24 so that's their responsibility so it would not
25 have mattered whether it was a staff patient or

1 private patient.

2 Dr. Savrin could have easily said, "Please
3 take care of this for me and let me know what's
4 going on." So he could have had a choice to do
5 that. So there is -- again, its the resident
6 that has to do the right thing. It doesn't
7 matter what kind of patient he is.

8 Q Did Dr. Savrin called Dr. Camp and
9 follow-up with her with respect to the
10 February 9th culture?

11 A I don't know.

12 Q Should he have?

13 A My understanding from Dr. Savrin is that
14 simply as a staff patient and having discussed
15 with Dr. Camp or having Dr. Camp to take care of
16 it, the patient would normally be followed up
17 with a clinic. I have done the same thing
18 myself in the practice of Saint Luke's.

19 Q Dr. Savrin could have prescribed
20 antibiotics on February 12th or February 13th.
21 Could he have not?

22 A Yes.

23 Q That would not have been a breach of the
24 standard of care. Correct?

25 A No.

1 Q For him to do so would have been in
2 compliance with the standard of care.

3 A Correct. It would have been appropriate
4 for the patient to have received antibiotics one
5 way or the other, yes.

6 Q When Dr. Savrin received the wound culture
7 on February 12th or February 13th, he could have
8 made an appointment for Mr. Duncan to come in
9 and see him. Could he have not?

10 A It is a possibility. It would not be
11 within the normal practice.

12 Q If he would have done so that would have
13 been in compliance with the standard of care.
14 Isn't that true?

15 A If he had done that, that would have been
16 the reasonable choice within the standard of
17 care.

18 Q Your principle reason for concluding that
19 this was a staff patient is what Dr. Savrin has
20 either told you or testified to.

21 A From Dr. Savrin's position as a vascular
22 surgeon, for that part of his care he was a
23 staff patient. That's correct.

24 MR. MOSCARINO: That's all I have.

25 THE COURT: Just a few

1 questions.

2 MR. RYAN: I understand.

3 REDIRECT EXAMINATION OF DAVID L. ROLLINS, M.D.

4 BY MR. RYAN:

5 Q Did Dr. Camp fail in her treatment of the
6 patient?

7 A Dr. Camp's failure was primarily that she
8 did not follow through with making sure the
9 culture results were evaluated afterward. And
10 this was a standard thing that is done everyday
11 all the time. And even after Dr. Savrin sent
12 her two copies of the report. What we don't
13 like to do when we train people is have to call
14 them up at that level and say, "Listen, where is
15 the report"? So, you know, this was something
16 that she would have normally done.

17 Q So her failure has nothing at all to do
18 with the fact whether Mr. Duncan was a staff
19 patient or a private patient or a man or a woman
20 or anything. It had to do with that she saw
21 the patient and she failed. Is that what we're
22 talking about?

23 A That's correct. It doesn't matter what
24 the status was.

25 Q You were asked questions concerning two
~~You were asked questions concerning two~~

1 reports. One produced by Dr. Lentnik, and one
2 produced by Dr. Ferenchek. Do you recall those
3 questions?

4 A Yes.

5 Q And you were asked if Dr. Ferenchek
6 published some remarks about Dr. Savrin. Do you
7 recall his questions?

8 A I do.

9 Q Dr. Ferenchek is a -- by documentation --
10 is a vascular surgeon. Do you agree or disagree
11 with that?

12 A I have not looked at that report and I
13 don't have a copy of it and so I don't recall.

14 Q Did Dr. Ferenchek also comment on Dr.
15 **Camp's** performance?

16 A Again, I have not seen that report. It
17 was not provided to me,

18 Q The status of Baldwin Duncan's leg prior
19 to March 9th, 1996 was it documented in the
20 medical records to Saint Luke's Medical Center?

21 A March 9th or February 9th?

22 Q March 9th, 1996. Prior to that from
23 March 5th to March 9th did the medical records
24 at Saint Luke's Medical Center, did they reflect
25 the status of Baldwin Duncan's left leg? And

1 I'll narrow it further as to vascularization.

2 Do you understand my question?

3 A After he was admitted?

4 Q Right.

5 A After he was admitted both clinically --
6 meaning when you look at it and touch it and ask
7 the patient how he feels as well as the
8 laboratory tests that we did -- showed that the
9 blood flow to the leg was adequate. It was
10 fine.

11 Q So from the day right after your surgery
12 **up** until March 9th before -- immediately before
13 the amputation it had remained stable. Is that
14 a fair word?

15 A That's correct.

16 Q You have no way of knowing -- of
17 guaranteeing to this jury when that stability
18 would ever change in the future. Do you?

19 A The answer to the question is no. My
20 opinion was -- I gave my opinion.

21 Q Certainly once the leg is amputated it
22 doesn't really matter what your opinion is as to
23 what's going to happen in 2001.

24 A For the leg, yes.

25 Q Is it the infection that led to the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

amputation?

A Yes, sir.

Q Okay.

THE COURT: All right. You may
step down. Thank you for coming to court.

THE WITNESS: Thank you, your
Honor.

* * * * *

C E R T I F I C A T E

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
1
1
2
2
:
:
:
25

I, Kellie M. Reeves-Roper, an Official Court Reporter for the Court of Common Pleas, Cuyahoga County, Ohio, do hereby certify that I am employed as an Official Court Reporter, and I took down in stenotypy all of the proceedngs had in said Court of Common Pleas in the above-entitled cause; that I have transcribed my said stenotype notes into typewritten form; that said Transcript is a complete record of the proceedings had in the said cause, and constitutes a true and correct Transcript of Proceedings had therein.



Kellie M. Reeves-Roper, RPR, CAT
Official Court Reporter
Cuyahoga County, Ohio