

COMMON PLEAS COURT

LORAIN COUNTY

STATE OF OHIO

- - -

Glen T. Diamond, et al., :

Plaintiffs, :

vs. : Case No. 96CV117098

William B. Saxbe, M.D., :

et al., :

Defendants. :

- - -

: Friday

: August 7, 1998

: 1:10 p.m.

: At the offices of

: Dr. Schlanger

: 1492 E. Broad St.

: Columbus, OH

- - -

Deposition of RICHARD E. SCHLANGER, M.D.

- - -

KIM E. SNYDER  
Registered Professional Reporter  
2264 Clairborne Dr.  
Powell, Ohio 43065  
(614) 888-7812

COPY

## APPEARANCES :

Donna Taylor-Kolis, Esquire  
Donna Taylor-Kolis, LPA  
1015 Euclid Ave.  
Cleveland, OH 44115  
(216) 861-4300

On behalf of the Plaintiffs.

Joseph E. Herbert, Esquire  
Roetzel & Andress  
75 E. Market Ave.  
Akron, OH 44308  
(330) 376-2700

On behalf of the Defendants,

## ALSO PRESENT :

Dr. Saxbe  
Dr. Summers

- - -

Friday Afternoon Session

August 7, 1998, 1:10 p.m.

- - -

STIPULATIONS

It is stipulated by and between counsel for the respective parties that the deposition of Richard E. Schlanger, M.D., a witness herein, called by the Defendants for cross-examination under the applicable Rules of Civil Procedure, may be taken at this time in stenotype by the notary, by agreement of counsel, that said deposition may thereafter be transcribed out of the presence of the witness; that proof of the official character and qualifications of the notary is waived; and that the examination, reading, and signature of the said Richard E. Schlanger, M.D. to the transcript of his deposition are waived by counsel and the witness; said deposition to have the same force and effect as though signed by the said Richard E. Schlanger, M.D.

- - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

- - -

RICHARD E. SCHLANGER, M.D.

being by me first duly sworn, as hereinafter  
certified, testifies and says as follows:

CROSS-EXAMINATION

BY MR. HERBERT:

Q. Would you state your name for the record?

A. Richard Edward Schlanger, S-C-H-L-A-N-G-E-R,  
M.D., PhD, FACS.

Q. Dr. Schlanger, I'm Joe Herbert. We've met  
before a couple months ago, correct?

A. That's correct.

Q. Once again, I'm going to be asking you some  
questions today. If I ask you anything you don't hear  
or you don't understand, please don't answer it.

If you tell me you didn't hear it, didn't  
understand it, I will be happy to repeat it, say it a  
different way, or speak up, whatever you need,  
understand?

A. Understood.

- - -

Thereupon, Exhibit A was  
introduced and identified.

- - -

BY MR. HERBERT:

1       Q.    I guess some introductory remarks.  I'm down  
2 here today basically to ask you specifically questions  
3 relating to a supplemental report, we'll call it, that  
4 you issued that's dated April 28, 1998.

5           And I'm handing you a copy of that report  
6 which I've marked as Exhibit A.  Is that what that is?

7       A.    Yes, it is.

8       Q.    So you know, I am not here today to replot  
9 old ground.  Actually this deposition is being paid  
10 for by the plaintiff.  I'm sure they would be very  
11 upset if I did go over all the stuff I already talked  
12 about.  I'll try to be as direct as I can.

13           First of all, I take it you prepared Exhibit  
14 A at the request of plaintiff's counsel?

15       A.    Yes.

16       Q.    And this is the only draft of a supplemental  
17 report?  There is no additional drafts?

18       A.    I should hope not.

19       Q.    Does that mean no?

20       A.    It means all I know is this is the only thing  
21 I wrote.

22       Q.    Do you have any -- is that your entire file?

23       A.    Actually it's hers.  I have a file.

24       Q.    Which I've seen already.

25       A.    Right.  I think this is the only thing that's

1 in it.

2 Q. Since the time of the last deposition, have  
3 you reviewed or looked at any additional materials  
4 regarding this case?

5 A. The only thing that I have seen which was the  
6 response I believe from your expert to this  
7 supplemental report.

8 Q. When did you see that?

9 A. Yesterday.

10 Q. Did you have a discussion or conversation  
11 with plaintiff's counsel about that, we'll call it,  
12 responding report?

13 A. I called her, told her i got it. I read it.  
14 Thank you.

15 Q. Did you offer any comments or opinions  
16 regarding the responding report?

17 A. I told her I didn't agree with it.

18 Q. Okay.

19 A. That's about it.

20 Q. Did you offer a basis as to why you didn't  
21 agree with it?

22 A. Not really. I think I just said I didn't  
23 agree with it. It is not in my typical practice plan.

24 Q. I think that provides us with a pretty good  
25 segue into the report itself. When you talk about

1 your typical practice plan, to be more precise about  
2 it, when we're talking about this specific issue, is  
3 it your typical practice plan to get an ultrasound or  
4 a CT scan prior to the removal of a drain such as the  
5 Jackson-Pratt drain used in this case?

6 A. For the purposes of this situation, yes.

7 Q. So if I understand your response, there would  
8 be some situations when you would not necessarily get  
9 an imaging study of some type before pulling a  
10 Jackson-Pratt drain or similar drain; is that true?

11 A. That's correct.

12 Q. Tell me about those situations,

13 A. When I put a drain in to prevent a fluid  
14 accumulation, such as after a mastectomy, or if I've  
15 done a low anterior resection and I know I'm going to  
16 get a little bit of ooze, I put a drain in to make  
17 sure I'm not getting a fluid collection. Therefore  
18 when that stops draining, it has done its job. It's  
19 but.

20 But when I'm putting a drain in a fluid  
21 collection that has been established before I pull the  
22 drain out, I get a subsequent x-ray to make sure all  
23 the collection has been completely collapsed, that  
24 there are no secondary areas that have not been  
25 drained. When those criteria have been met, then the

1 drain could be pulled quite safely.

2 Q. You use the word x-ray, did you mean to say  
3 ultrasound or CT scan?

4 A. Those are modalities of what we call x-ray  
5 department or radiology.

6 Q. If I fully understand you, it would have also  
7 been appropriate to get an x-ray in this particular  
8 case?

9 A. An x-ray I use that as a generic term talking  
10 about the department of radiology, imaging study  
11 either CT or ultrasound.

12 Q. But not necessarily x-ray?

13 A. Flat plate x-ray would not show anything.

14 Q. That was my point. Thanks.

15 A. It would not show anything.

16 Q. Now you mention that you differentiate this  
17 particular case because there was an established fluid  
18 collection; is that true?

19 A. That's correct.

20 Q. And when you talk about an established fluid  
21 collection, what do you mean?

22 A. Basically it's an area in the abdomen that  
23 normally does not have fluid that will now be filled  
24 with some sort of material, i.e. blood, serum,  
25 ascites, bile, depending on the location.



1           These are normally not extravascular fluid  
2 collections. Normally if I have a person that's  
3 undergone a gallbladder, there should be no fluid, but  
4 if I have already diagnosed a collection biloma or  
5 free bile peritonitis and the fluid should be there;  
6 therefore, that's an established collection.

7           Q.    When was the last time that you had such a  
8 situation where you had an established fluid  
9 collection and prior to removing the drain obtained an  
10 imaging study?

11          A.    Tuesday.

12          Q.    Give me a sense during 1998 how many times  
13 you have been in that situation where you have  
14 obtained an imaging study?

15          A.    Probably about two a week.

16          Q.    When you remove a drain, when you pull it  
17 out, do you look at it?

18          A.    Do I look at the drain?

19          Q.    Um-hmm.

20          A.    Most of the time, yes, before I throw it out,  
21 yes.

22          Q.    Why do you do that?

23          A.    It's right in front of me. I'm making sure  
24 t's not clotted, that there isn't any extra fluid or  
25 at, something that's collapsed in it that's making it

1 not drain.

2           There could be some kind of other sorted  
3 material such as a plasma plug. I just want to make  
4 sure it was functioning normally. I also make sure I  
5 have the full length.

6       Q.     Sure. So when you have an established, as  
7 we've been discussing it here for purposes of this  
8 deposition, an established fluid collection, you  
9 routinely obtain imaging studies before that drain is  
10 even removed?

11       A.     That's correct.

12       Q.     That is without regard to whether or not the  
13 patient has any clinical symptoms or not?

14       A.     If the drain has stopped draining,  
15 irrespective if they're doing great or not doing  
16 great, I want to make sure I've get everything out  
17 before I pull that drain.

18           I routinely get an x-ray, excuse me,  
19 routinely get an ultrasound or CAT scan.

20       Q.     We've established that's what you mean.  
21 That's fine.

22           Just so it's clear, even if the patient says  
23 I feel great, I have no symptoms, ne problems, your  
24 exam finds no indication that there is a problem,  
25 simply because there was this, quote, established

1 fluid collection, you would automatically get an  
2 imaging study?

3 A. Yes.

4 Q. Let's say, again, for purposes of our  
5 discussion here today that an imaging study is  
6 obtained in this particular case at the time that you  
7 think it should have been in the office before the  
8 drain is removed, right?

9 A. Correct.

10 Q. If I understand you, what you're saying then  
11 is this patient would basically have been treated as  
12 he was in Florida only without the delay, in other  
13 words, you put the patient in the hospital?

14 A. Correct.

15 Q. Work him up and potentially he goes through  
16 the same steps that they went through down in Florida?

17 A. Correct.

18 Q. So the issue there in your mind is time; is  
19 that fair?

20 A. Can we go over that one more time.

21 Q. Yeah. And think about it because I really  
22 want to understand it.

23 A. Right.

24 Q. When I read your report and when I listen to  
25 what you're saying, my impression is that you're

1 saying you get an imaging study in the office, He  
2 would have seen that there was a remaining fluid  
3 collection which would have told him that we need to  
4 do something for this patient.

5 Fair enough?

6 A. Fair enough.

7 Q. And is it fair to say that it's likely,  
8 although we don't know with certainty, that that  
9 patient would have at that point basically been  
10 treated in a similar fashion to the way he was treated  
11 when he got down to Florida; although, you know, he  
12 lost some time and during that time he had some  
13 discomfort, essentially he would have still followed  
14 through more likely than not with the same kind of  
15 treatment?

16 A. I don't know if I can say that exactly  
17 because I don't see in the initial treatment that type  
18 of follow through,

19 I'm hesitant to say, yes, he would have had  
20 an ERCP. He would have had further drainage. I don't  
21 know if I can say that 100 percent from the initial  
22 treatment the way I was listening not listening but  
23 reading the history. I know what happened in Florida.

24 Q. Right.

25 A. I'm reticent to agree 100 percent those next

1 steps would definitely have happened.

2 Q. Let me try and make it easier for you.

3 A. Okay.

4 Q. I understand your concern. I understand that  
5 frankly it's impossible for any of us to be 100  
6 percent certain.

7 A. Right.

8 Q. Whether or not, I'm not asking you to make a  
9 prediction as to what you think Dr. Saxbe would or  
10 would not have done.

11 Is it fair to say that you feel there is an  
12 element of speculation there that you're uncomfortable  
13 with to try to guess what his next moves would have  
14 been?

15 A. That's basically what I'm saying.

16 Q. But assuming for the sake of my question that  
17 any doctor, any hypothetical doctor, does this imaging  
18 study that you recommend, it would have been a  
19 reasonable course of action to follow through the same  
20 sort of treatment and work-up that was done down in  
21 Florida?

22 A. Yes.

23 Q. And so in that situation what we're talking  
24 about is a loss of time as opposed to --

25 A. I guess it does boil down to time.

1 Q. Take a minute and think about it because,  
2 again, I just want to be sure.

3 A. Thinking about it, based on those things, it  
4 is a time element.

5 Q. Do you have any specific literature citations  
6 to discuss supporting the idea that a, quote,  
7 established fluid collection, as we've described it  
8 here today, would require an imaging study prior to a  
9 drain being pulled?

10 A. I haven't looked.

11 Q. Okay.

12 A. I know when I work in conjunction with my  
13 radiologists and I could probably find radiologic  
14 literature to support that. We've been doing it as  
15 common practice for as long as I can remember. With  
16 these type of situations we have not just pulled.  
17 We've always taken a look.

18 Q. So that's based on your experience here at  
19 this particular hospital?

20 A. Actually it's been the way we've done things  
21 at probably five or six of the hospitals here. Every  
22 one that I have come in contact with as far as  
23 surgeons pretty much when we've gotten a patient who  
24 had a fluid collection when we drain it has always  
25 been before we pull the drain let's go ahead and get

1 an ultrasound or CAT scan.

2 Q. When you talk about five or six different  
3 hospitals, tell me what hospitals you're talking about  
4 and over what period of times?

5 A. ~~Well,~~ it has been for the last ten years. If  
6 we go past 15, we are including Ohio State University  
7 Hospitals. Mount Carmel East Medical Center, Mount  
8 Carmel Medical Center, Park, it was then ~~St.~~  
9 Anthony's, Grant and occasionally Riverside because I  
10 do attend the resident training programs in morbidity  
11 mortality, and these kind of questions will come up.

12 It is being told to the residents when we do  
13 have these situations especially ~~since~~ laparoscopic  
14 cholecystectomy has been around since 1990 especially  
15 in Columbus it has not been unusual for us to take the  
16 tertiary referral of the patient with bile leaks.  
17 They are usually CAT scan guided drain removal.

18 Before the drain is removed, there is an  
19 imaging study to make sure we've taken care of the  
20 problem.

21 Q. What do you mean when you say tertiary  
22 referral?

23 A. Basically the patients that have had their  
24 initial surgery elsewhere, gotten sick and transferred  
25 for their permanent care so to speak.

1 Q. Of the hospitals you named over the last,  
2 let's just say, ten years, which ones do you spend  
3 most of your time during surgery in?

4 A. Here and Mount Carmel East.

5 Q. Give me a sense of the breakdown.

6 A. It is about 70, 30. 70 here, 30 there.

7 Q. The rest negligible?

8 A. The rest negligible. An occasional insurance  
9 case that has to go elsewhere.

10 Q. So it is clear, you don't have any specific  
11 literature citations to discuss today, right?

12 A. That's correct.

13 Q. And you think as you sit here, you may be  
14 able to find something in the radiologic literature?

15 A. I'm sure there is something. Again, a lot of  
16 what I do is based on conference, collaboration with  
17 other surgeons and other doctors and what we feel is  
18 just good, sound practice.

19 Q. Give me a sense of outside of the Columbus  
20 area where else in Ohio you've done surgery.

21 A. I've been up in Delaware at Grady Memorial,  
22 but basically these were -- my practice is Columbus  
23 based.

24 Q. When were you up in Delaware?

25 A. 1991, 1992 setting up their laparoscopic



1 program.

2 Q. What hospital was that?

3 A. Grady Memorial.

4 Q. Do you still have privileges there?

5 A. No, I gave them up.

6 Q. Have you ever been to Lorain County to  
7 testify?

8 A. Which is Lorain County?

9 MS. TAYLOR-KOLIS: West of Cleveland.  
10 Elyria.

11 THE WITNESS: No. I'm from New York  
12 initially. When you start talking counties, once I'm  
13 outside Manhattan, I'm lost.

14 Q. Since the last time I deposed you, have you  
15 reviewed any other cases for Donna Taylor-Kolis or her  
16 Law firm?

17 A. No.

18 Q. Since I last deposed you, have you reviewed  
19 any additional cases on behalf of medical malpractice  
20 plaintiffs?

21 A. I think I've seen two cases.

22 Q. From where?

23 A. They are in my office. I'd have to take a  
24 Look.

25 Q. Are they Ohio cases?

1       A.    No.  I think one is from West Virginia.  
2  Another one was from Rhode Island.  How I got them, I  
3  have no idea.

4       Q.    Do you know the lawyer's name?

5       A.    I'd have to look.

6       Q.    That's okay.  Those are both on behalf of  
7  plaintiffs potentially?

8       A.    One's plaintiff, one's defense.

9           MR. HERBERT:  Let me take just a quick three  
10 or five-minute break.

11           (Off the record.)

12       3Y MR. HERBERT:

13       Q.    Again, in this hypothetical world, if you see  
14 this patient in the office and you get your  
15 ultrasound, your CAT scan and there is no fluid  
16 collection at that point in time, it is perfectly okay  
17 to pull the drain?

18       A.    Yes.

19       Q.    So the assumption in your mind is there would  
20 have been a fluid collection visible on whatever  
21 imaging study was done or you say should have been  
22 one at that time, correct?

23       A.    Yes.

24       Q.    And you are taking that position because of  
25 the amount of fluid that was present when the patient

1 was seen in Florida?

2 A. Correct.

3 Q. In conjunction with the fact that you believe  
4 this was a continuous yet slow leak, true?

5 A. Correct.

6 Q. In your mind, this was not an intermittent  
7 leak, correct?

8 A. Correct.

9 Q. If you should feel the need to review any  
10 literature sources or citations and you come upon  
11 things that you feel are supportive of your position  
12 in this case and you would like to comment upon those  
13 things or discuss them in even a general way at the  
14 time of trial, I would ask you that you provide in  
15 letter form a list of those citations to plaintiff's  
16 counsel so that she can take the appropriate steps  
17 under the rules to let us know. Would you do that?

18 A. Absolutely.

19 MR. HERBERT: Again, you've got the right to  
20 read this after it's written up or you can waive the  
21 right.

22 THE WITNESS: I'll waive it.

23 - - -

24 Thereupon, the deposition was  
25 concluded at 1:36 p.m.


## CERTIFICATE

STATE OF OHIO                    )  
                                       ) SS:  
 COUNTY OF DELAWARE            )

I, Kim E. Snyder, an RPR and notary public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named Richard E. Schlanger, M.D. was by me first duly sworn to testify to the truth, the whole truth, and nothing but the truth in the cause aforesaid; that the deposition then given by him was by me reduced to stenotype in the presence of said witness, afterward transcribed by computer; that the foregoing is a true and correct transcript of the deposition so given by him; that the deposition was taken at the time and place in the caption specified and completed without adjournment; and that I am in no way related to or employed by any attorney or party hereto, or financially interested in the outcome of said action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Powell, Ohio, on this 8th day of August, 1998.

My commission expires  
 January 12, 2000

  
 KIM E. SNYDER, NOTARY PUBLIC  
 IN AND FOR THE STATE OF OHIO

INDEX

Exhibit A was

introduced ..... 4:22

CROSS-EXAMINATION

BY MR. HERBERT: ..... 4:5