

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Bertha Fisher,

Plaintiff,

vs.

Amitabh Chak, M.D., et al.,

Defendants.

Case No. 323197
Judge Michael Corrigan

Deposition of Richard E. Schlanger, M.D., Ph.D., a
Witness herein, called by the Defendants for Examination under
the statute, taken before me, Rose Marie Prater, Registered
Professional Reporter and Notary Public in and for the State of
Ohio, by agreement of counsel without notice or other legal
formality, at the offices of the deponent, 1492 East Broad
Street, Suite 1300, Columbus, Ohio, on Wednesday, October 22,
1997, beginning at 2:00 o'clock p.m. and concluding on the same
day.

COPY
TRANSCRIPT

I APPEARANCES :

2 ON BEHALF OF THE PLAINTIFF:

3 Donna Taylor-Kolis, Esq.
1370 Ontario Street, Suite 330
a Cleveland, Ohio 44115
(216) 861-4300

5 ON BEHALF OF THE DEFENDANTS:

6 Markus B. Willoughby-Sjogren, Esq.
7 Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
8 Cleveland, Ohio 44114-1192
(216) 736-8600

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

S T I P U L A T I O N S

- - -

1
2
3 It is stipulated by and between counsel for the
4 respective parties herein that the deposition of Richard E.
5 Schlanger, M.D., Ph.D., a Witness herein, called by the
6 Defendants for Examination under the statute, may be taken at
7 this time and reduced to writing in stenotype by the Notary,
8 whose notes may thereafter be transcribed out of the presence of
9 the witness; that proof of the official character and
10 qualification of the Notary is waived; that the witness may sign
11 the transcript of his deposition before a Notary other than the
12 Notary taking his deposition; said deposition to have the same
13 force and effect as though the witness had signed the transcript
14 is of his deposition before the Notary caking it.

- - -

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1	I N D E X	
2	- - -	
3	WITNESS	PAGE
4	Richard E. Schlanger, M.D., Ph.D.	
5	Examination by Mr. Willoughby	5
6	- - -	
7	EXHIBITS	MARKED
8	Defendants' Exhibit A -	
9	Dr. Schlanger CV	6
10	- - -	
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 RICHARD E. SCHLANGER, M.D., Ph.D.

2 of lawful age, being by me first duly placed under oath, as
3 prescribed by law, was examined and testified as follows:

4 EXAMINATION

5 BY MR. WILLOUGHBY:

6 Q. Hi, Doctor. My name is Markus Willoughby, a ~~x~~1 represent
7 Dr. Amitabh Chak, A-m-i-t-a-b-h, C-h-a-k, in a medical
8 negligence case that Bertha Fisher has brought against him.
9 It's my understanding that Donna Kolis has hired you to render
10 criticisms against Dr. Chak for his care and treatment of Bertha
11 Fisher; is that correct?

12 A. That's correct.

13 Q. I have been provided a copy of your CV, and I want to show
14 it to you here and ask you if that's an updated copy. I gave
15 the same copy to your counsel -- I mean to Donna.

16 As you're looking at that, I'm going to have a copy --
17 Well, I'll ~ a i ~ .

18 A. Take this one instead.

19 (Handed.)

20 Q. Is it updated?

21 A. Yeah.

22 Q. What's the difference between these two?

23 A. There's a couple of both -- either on academic appointments
24 and/or committees, just a couple of things that have been
25 deleted or added, just little things.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 You'll find one on the page under "Research: Development"
2 for U.S. Medical Corp., there's one that says "Physicians
3 Advisory Board" for the State of Ohio, Region IV, where I was
4 teaching EMS and doing trauma for Governor Voinovich.

5 Q. Anything else that's different?

6 A. No. Pretty much everything else is the same, but i thought
7 you'd like to have that.

8 MR. WILLOUGHBY: I'm going to go ahead and have that
9 marked as Defense Exhibit A.

10

- - -

11 Thereupon, Defendants' Exhibit A was mark&
12 for purposes of identification.

13

- - -

14 BY MR. WILLOUGHBY:

15 Q. Do you have another copy?

16 A. Actually, I can get another copy, if you like.

17 Q. Why don't you take a look at that?

18 A. That's the one thac I gave you.

19 Q. Yeah, I know. We marked it as an exhibit; so It's going to
20 be attached to the deposition so everybody will have a copy of
21 it.

22 A. That's fine. Okay.

23 Q. Do any of the entries on your CV address the specific
24 Issues involved in chis case; that is, either a microperforation
25 or perforated colon or delayed perforation?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. I don't think so, no.

2 Q. Looking at your curriculum vitae, you did undergraduate at
3 Hofstra University in New York?

4 A. Not exactly. I did undergraduate at Tulane University in
5 Louisiana, and did postgraduate work at Hofstra University in
6 New York.

7 Q. Okay. Where did you get your M.D.?

8 A. M.D. ~~was~~ at the University of Toulouse in France along with
9 my Ph.D.

10 Q. Why did you go to France to get your M.D.?

11 A. Basically this ~~was~~ 1973, '74, when the end of the Vietnam
12 War ~~was~~ producing a vast number of people trying to still get
13 out of the draft. I made 15 waiting lists, and at the end of, I
14 guess it was, September when I still had ~~not~~ heard
15 significantly, I had run into a French cardiovascular surgeon
16 who said "Why don't you come overseas, work with me, and go to
17 medical school"; so I did.

18 Q. You received a Ph.D. in immunology?

19 A. That's correct.

20 Q. You came back and you did a flex program, which is
21 essentially a one year -- Why don't you tell us what a flex
22 program is?

23 A. Basically the flex is a licensing examination that is in
24 competition with the National Board of Medical Examiners.
25 People that do not go to U.S. medical schools cannot qualify for

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 the National Medical Examiner's Board, and the flexible program
2 is a way of gaining a national licensing possibility.

3 What I did do when I returned from overseas is amended a
4 a flexible internship program at Mt. Sinai Medical Center. This,
5 instead of doing just one specialty, I **did** pediatrics, emergency
6 room, internal medicine, psychiatric rotations, OB-GYN and
surgery.

8 Q. Which would be approximately equivalent to an internship
9 year for a person coming out of medical school?

10 A. That's correct.

11 Q. You did another internship in the field of surgery?

12 A. Yes, that's correct, at Ohio State.

13 Q. It **was** at Ohio State, along with *your* residency as well as
14 Ohio State?

15 A. Thae's correct.

16 Q. **When** did you finish your residency?

17 A. 1986.

18 Q. And then did you sit for the Board?

19 A. Yes, I did.

20 Q. Did you pass on the first attempt?

21 A. Yes, I did.

22 Q. It says here American Board of Surgery, certified in 1988;
23 is that a misprint?

24 A. No.

25 Q. **Why** did it take two years --

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 A. Well, basically I did not take them the first *year* out
2 because of work here. I went into private practice, and it just
3 became very difficult. But the other thing that I need to tell
4 you is I passed the written the first time, and they were
5 backlogged and asked if I could take the written not in the '87
6 year, but the first available '88 year, and that's why it was
7 delayed that much.
- 8 Q. So you took the orals in '87?
- 9 A. No, I took the written in '87, my first year out as
10 eligible, and took the orals in '88.
- 11 Q. Okay. And that was because they asked you to?
- 12 A. They asked as it was a convenience, they were overlapped,
13 and I thought I could use a little bit more time.
- 14 Q. Sure.
- 15 A. So I took them a year later.
- 16 Q. You were board certified in surgery in 1988 then?
- 17 A. That's correct, and recertified in '96. It doesn't have it
18 on there?
- 19 Q. No, it does not.
- 20 A. Oh, it should. Yeah, I've recertified.
- 21 Q. You're not board certified in internal medicine?
- 22 A. No.
- 23 Q. You're not board certified in gastroenterology?
- 24 A. No.
- 25 Q. When were you first contacted to review this case?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 A. I can't even begin to tell you. It's been a while.
- 2 Q. All right.
- 3 A. It's more than six months.
- 4 Q. Did Donna contact you directly?
- 5 A. Yes.
- 6 Q. She didn't go through a service?
- 7 A. I don't go through services.
- 8 Q. Did she give you any particulars over the phone as to what
- 9 this case was about?
- 10 A. I think she **may** have just told me a little biz about it,
- 11 which was a colonoscopy patient developed some problems, would
- 12 you look at it.
- 13 Q. And she followed up with some correspondence?
- 14 A. Basically sent the records, and I looked at the records.
- 15 Now, I'm going to tell you "Less are hers, Mine ~~are~~ in Chicago.
- 16 Q. Okay.
- 17 A. I reviewed -- I was in Chicago over the weekend, reviewed
- 18 everything there, and accidentally left them and they're being
- 19 shipped down.
- 20 Q. Okay. Do you know what it was that you reviewed, because
- 21 it is not specifically identified in your report?
- 22 A. Well, basically what I did review are the colonoscopy
- 23 record, which was an outpatient procedure, and her inpatient
- 24 hospitalization.
- 25 Q. Which inpatient hospitalization?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. Well, the original, which was the 4-21 through 5-1-96, and
2 then there are some subsequent admissions for other problems,
3 but that was the main thrust of my study, was to see what
4 happened after she came in with the perforation.

5 Q. Have you ever been involved as a part; or a witness in any
6 lawsuit that involves a perforated colon?

7 A. I believe I did two cases for PIE about two years ago that
8 dealt with a perforated colon. Somehow the name Abramson comes
9 to mind as one of the cases, and I just can't remember the name
10 of the other, but I think those were the two cases I do remember.

11 Q. Did you give deposition --

12 A. I gave depositions for PIE in these cases.

13 Q. -- in both of those cases?

14 A. Yes.

15 Q. Were those cases in Columbus or were they in some other
16 city?

17 A. They were from outside of central Ohio, but they were Ohio

18 Q. Were both of these cases approximately two years ago?

19 A. I would suspect.

20 Q. Have you ever reviewed a case for Donna in the past?

21 A. Just one other that I think we're working on now.

22 Q. After you produced your report dated July 12th, 1996, what
23 records did you review, any additional records?

24 A. No.

25 Q. Did you review -- You've not reviewed the deposition of

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 Dr. Chak?
- 2 A. That came very recently.
- 3 Q. Have you had a chance to Look at it?
- 4 A. Yes.
- 5 Q. Ail right. Did you review the deposition of Bertha Fisher?
- 6 A. I have not seen Bertha's deposition.
- 7 MR. WILLOUGHBY: Off the record.
- 8 (Discussion held off the record.)
- 9 MR. WILLOUGHBY: On the record.
- 10 BY MR. WILLOUGHBY:
- 11 Q. Have you reviewed the expert report of Dr. David Lieberman?
- 12 A. It was just a one-page report or two-page report that I
- 13 received very, very recent~~ly~~Y.
- 14 Q. Did you have a chance to review it?
- 15 A. Yes.
- 16 Q. Any other records that you've received?
- 17 A. No.
- 18 Q. ~~As~~äss your review of Dr. Chak's deposition or Dr. Lieberman's
- 19 CV changed your opinion in any way?
- 20 A. No.
- 21 Q. I'm going to 'nand you what I received from Donna Kolis,
- 22 wnat I believe to be a report written by you to Donna Kolis
- 23 regarding the care rendered by Dr. Chak to Bertha Fisher?
- 24 A. If I could have just a second to reread it.
- 25 Q. Please.

(Witness reviewing documents.,

2 A. Okay. Yes, it has the appropriate misspellings that I'm
3 noted for, yes.

a Q. It sort of identifies it, huh?

5 A. Yes.

6 Q. Is this your only report that you've authored in this case?

7 A. Yes.

8 Q. You have not written a supplemental report?

9 A. No.

10 MS. KOLIS: Would you like one? No, I'm kidding.

11 BY MR. WILLOUGHBY:

12 Q. How many hours have you spent reviewing the materials in
13 this case, total for this case, phone calls, not including this
14 deposition, though?

15 A. Oh, probably somewhere between six and seven hours.

16 ~~How much~~ How much are you charging an 'lour?

17 A. I am going to --

18 Q. While you're at it, why don't you give me a breakdown for
19 how much you charge for review, for depositions, for trial?

20 A. You Seat me to it.

21 Q. Sorry.

22 A. We are a practice of three surgeons. When I got nere, my
23 two partners, who do significant amount of legal work, had
24 preset what Surgical Associates of Columbus charges; so it's the
25 same for all three of us.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

I Chart review, telephone, written report, \$250 an hour;
2 deposition and preparation, \$500 an hour; depo cancel within 48
3 hours, \$500; trial out of town, 3,500 a day; in town, no more
a than half a day, \$2,500; trial cancellation within a week of
5 trial, \$1,000; video deposition, \$1,500.

6 Q. Is it your intention to appear live at this trial?

A. Yes.

8 Q. Have you taken a day off so that you can travel up to
9 Cleveland?

10 A. Well, if it's been scheduled, most likely what will happen
11 is that day will have already been blocked out.

12 Q. All right. Are the opinions that you plan on rendering at
13 trial outlined in this report?

14 A. Somewhat, yes.

15 Q. Do you have any --

16 A. In other words, I -- depending on your questions and how
17 they come across, they may be slightly syntactically different,
18 but pretty much.

19 Q. But based on your review of the records, this expert report
20 accurately defines or sets forth an outline of your criticisms?

21 A. Yes.

22 Q. Do you have any other criticisms that are not contained in
23 this report?

24 A. I don't believe so.

25 Q. Have you been asked to review any additional material after

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 chis deposition but before the trial?

2 A. Not that I know of.

3 Q. Can you give me a breakdown of your practice in terms of
4 how much time is spent in different areas in which you practice?

5 A. Approximately 85 percent is clinical and direct patient
6 care, 5 percent is purely administrative, and the remaining 10
7 percent is either research or dealing with some form of clinical
8 product evaluation.

9 Q. And that 10 percent, do you include literature that you've
10 authored?

11 A. About half, yes.

12 Q. Where do you put the other half?

13 A. The other half is product evaluation, being asked to
14 participate in the clinical trials of new instrumentation or new
15 theoretical devices.

16 Q. How much of -- Strike that.

17 How many diagnostic colonoscopies do you perform in a
18 month?

19 A. Myself, maybe one, but I'm present at no less than 15 to 20
20 while it's been done by my gastroenterologist.

21 Q. In a Leaching setting?

22 A. Well, they're doing it, and I'm down there with my patient
23 watching the exam going on, or maybe three to four times a month
24 we're doing an operative case in which a gastroenterologist is
25 present in the OR physically performing the colonoscopy while I

I have been over him.

2 Q. So you're present for around 15 to 20 colonoscopies a
3 month?

4 A. I would suspect that's accurate.

5 Q. And has that practice remained constant for the past five
6 or so years?

7 A. Yes.

8 Q. I want to ask you some general questions about the medicine
9 in this case and about the care that was rendered. First, can
10 you tell me what the layers are of the cecum wall?

11 A. The cecum being part of most of the colon, there is a
12 mucosa, a submucosa, a muscularis, an outer serosal wall and
13 then what we call a fatty layer just outside, pericolic fat.

14 Q. What is the mucosa?

15 A. Mucosa is a velvety covering; that has the glandular
16 structures. It's a fairly thin, usually lumpy, bumpy filled
17 with villi that is the absorptive area for the colon.

18 Q. And what is the submucosa?

19 A. The submucosa is the strength layer. It is a fibrous mix
20 of both connective tissue and some loose muscle, which is the
21 layer that we achieve our sutures through to make our
22 anastomoses; that is the strength layer of the colon.

23 Q. What is the muscularis?

24 A. Muscularis is either a circular or longitudinal muscle
25 which make for the contraction of the peristalsis of the colon.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 Q. And, I'm sorry, what were the other layers?

2 A. You have a serosa and pericolic fat.

3 Q. Can you tell me what the serosa layer does?

4 A. The serosa is just an outer envelope, and it's usually
5 covered with a peritoneal lining. It holds the integrity of the
6 bowel together. Its function is almost like the outer layer of
7 a muscle in itself, almost a fascial layer.

8 Q. What's it made out of?

9 A. It's just a serosal lining, usually one or two layers of
10 monocytes. It really -- I've never looked at it under the
11 microscope to tell you exactly what it is, but it's usually
12 fibrous.

13 Q. What is the pericolic layer?

14 A. It's fat around the colon and usually will contain lymph
15 nodes.

16 Q. How thick is the cecum wall normally on a normal person? I
17 know there may be some variation, but if there's to be some
18 variation in your answer, just give me the high, low end.

19 A. Most of the time when you cut through a normal cecum, we're
20 talking maybe two to three millimeters in total thickness; it's
21 not very thick at all.

22 Q. Do you know how thick the unulcerated area of Mrs. Fisher's
23 cecum wall was?

24 A. Can't tell you.

25 Q. You didn't see that on the biopsy specimen that was done?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. Well, I can look at that, but the thing is that's a fixed
2 specimen; when they're fixed, they thicken up. The thing that a
3 lot of people don't appreciate is once you've blown air into the
4 colon, that thickness completely dissipates. We're talking
5 paper thin. So even though this area may have had a certain
6 thickness on pathology, and I can look at it and give you what
7 they said, that's after it's fixed. The gross description,
8 patient's fragment's .2 by .05 centimeters, which is the area we
9 were talking about.

10 Q. That's not the 4-21-96 pathology report, is it?

11 A. Well, maybe it is. That's 4-19. Okay. I've got to find
12 the other one; so scratch that. I don't have that.

13 Q. I might have that.

14 A. Let me see.

15 MS. KOLIS: It should be in the records that I gave
16 you.

17 THE WITNESS: Well, let's see if you can find it
18 because I'm not finding it right off the bat, unless I've missed
19 it in here.

20 BY MR. WILLOUGHBY:

21 Q. It's not a very good read, but --

22 (Handed.)

23 I think you'll find it in that description.

24 A. The cecal wall thickness ranges from .5 to .005. That's
25 kind of hard for me to really see that he was able to make that

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 determination with a magnified scope.

2 Q. Do you have any reason to disagree with the pathologist's
3 estimation as to the thickness of the cecal wall?

4 A. Well, the estimation, again, it's not full thickness, and
5 he is looking at a punch biopsy that has been put in formalin;
6 it's not a frozen section.

7 Q. He was looking at a punch biopsy?

8 A. If this is -- Have you ever taken a biopsy with an
9 endoscope?

10 Q. Not myself, no.

11 A. You have to understand that he's putting in a scope that's
12 probably a little bit bigger than my finger and through that
13 channel he's putting in a one, maybe a half a centimeter chick
14 wire. And at the end, he's got a little punch that he takes a
15 bite. That's how the biopsy is done. These are infinitesimal
16 pieces, and it's very hard to estimate exactly what they are,
17 they're tiny,

18 Q. I don't think that's a biopsy. I think it's a specimen.

19 A. This is a surgical specimen and, again, this has been
20 fixed.

21 Q. What do you mean when you say "fixed"?

22 A. It's been put in formalin. And I go down and look at all
23 my pathology specimens fresh, and when I look at the pathology
24 specimen fresh, when I've cut it, it bunches up and it looks
25 thicker than it actually is. Then when we put it in the

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 formalin, it's like it's been canned, and this thing is rigid.
2 And I found they significantly overestimate the thickness of the
3 cecum.

4 Q. Are you aware of any literature to suggest that when a
5 specimen is placed in literature (sic) it expands?

6 A. You mean in formalin?

7 Q. I'm sorry, formalin.

8 A. It thickens. I can get -- I'll talk to my pathologist and
9 see if there's anything, but I've always noticed that.

10 Q. I would appreciate receiving that information.

11 A. Well, he says the colonic wall, as we talked about, was .5
12 in an uninvolved area and very thin, .05, in the ulcerated area,
13 which is paper thin.

14 Q. If you have a denuded area, say the size one centimeter
15 square, in the cecum such that only some or all of the mucosa
16 with none of the muscularis or submucosa was gone, would you
17 expect the wall of the cecum to be intact?

18 A. I can't answer that a hundred percent. If this is done
19 during a colonoscopy and this is a traumatic area, I would say
20 with all -- within medical probability, that's a full thickness
21 perforation.

22 Q. I'm sorry, I'm not sure I --

23 A. You've asked if this was an area of denuded, that he talks
24 about as being traumatic.

25 Q. I just asked you a hypothetical.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (514) 031-1300

- 1 A. Okay. Hypothetically, again, if it's during a colonoscopy
2 and the area **is** fibrotic and looks as a true ulcer, chances are
3 it's not full thickness. But if it is a split, there is more
4 than a reasonable doubt that It could be possibly full
5 thickness. These are scopes thac are front-viewers, not
6 side-viewers; so they don't get a full, direct visualization of
7 these areas. And being there and seeing enough of these, I
8 watch them maneuver and trying to get head-on on something that
9 may not be directly in front of them. It's almost impossible.
10 Only with a side-viewing scope could they make that
11 determination.
- 12 Q. Let me ask my question again.
- 13 A. Please,
- 14 Q. Because I think maybe we sort of got sidetracked.
- 15 A. Okay.
- 16 Q. If you have a denuded area approximately the size of one
17 centimeter square --
- 18 A. Right.
- 19 Q. -- in the cecum such that some or all of the mucosa, but
20 none of the submucosa and none of the muscularis **was** gone, would
21 you expect **the** wall of the cecum to **be** intact?
- 22 A. I would expect tnat to be intact, yes.
- 23 Q. How significant is mucosa in maintaining **the** integrity of
24 the cecum wall?
- 25 A. The xucosa itself is fairly important, but it's the

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 submucosa which is the most important area, but chances are you
2 can't tell the difference when you're looking whether or not
3 you're seeing submucosa or muscularis.

4 Q. On using the scope or --

5 A. Using the scope.

6 Q. Okay. But on pathology, you could see it?

7 A. Pathology, they should be able to tell you what they're
8 looking at completely.

9 Q. Are you familiar with the terms "hot" and "cold" biopsy?

10 A. Yes-

11 Q. Can you tell me what they are, the difference between those
12 two?

13 A. Hot biopsy is the biopsy machine is connected to an
14 electric source of some kind that's going to allow the area to
15 be coagulated. They're either going to be using a wire or a
16 punch that the force generator will not only cut through the
17 mucosa but, hopefully, create a coagulated stock.

18 The cold biopsy is putting this punch in, taking a cold
19 piece; thank you very much.

20 Q. Is any one associated with a higher risk of perforation
21 than the other?

22 A. The hot biopsy usually has a higher risk.

23 Q. Do you know what the incidence of perforation is in the
24 diagnostic laparoscopy without biopsy?

25 A. You mean diagnostic colonoscopy?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 Q. Colonoscopy.

2 A. Yeah, we're talking in less than one to two percent. It's
3 a very low number. It's usually only a higher number in
4 patients that have had multiple surgeries. The patients that
5 are known to have the disease entity such as an ulcerative
6 colitis, diverticulitis, or a cancer in which we're dealing with
7 a perforated bowel, or if we know there is ulcerations after
8 ulcers, herpetic ulcers, or patients that have AIDS, that we
9 find ulcers in the area of the cecum.

10 Q. Do you know what the incidence of perforation is in a
11 colonoscopy with cold biopsy?

12 A. Again, it depends on what we're biopsying. If it's a polyp
13 that has a long stalk, it should be less than a tenth of a
14 percent. In ulcerated lesions, I think we're close to 3 to 5
15 percent.

16 Q. What's the risk of incidence of perforation in a diagnostic:
17 colonoscopy with hot biopsy?

18 A. It probably goes up to 7 percent, especially with loop.

19 Q. What is a microperforation?

20 A. Basically it's -- Most endoscopists would like to -- when
21 there is a perforation, would like to be able to see the perf,
22 but if there is an area where either from the trauma or biopsy
23 the actual perforation is only as big as the biopsy specimen and
24 doesn't manifest as this huge spontaneous hole that you can
25 drive the scope through, there is leakage of air into the

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 peritoneal cavity, and this should produce the typical
2 subdiaphragmatic crescent of free air.

3 Q. Over what period of time?

4 A. Well, the amount of air that's put into these
5 colonoscopies, especially in the cecum, it should be within
6 anywhere from 15 to 20 minutes to an hour, to two hours that the
7 patient will manifest either pain, bloating, and you'll have a
8 positive X-ray.

9 Q. Are you familiar with the term "delayed perforation"?

10 A. Yes.

11 Q. What is that?

12 A. Delayed perforation is when there has been a mini perf or a
13 smaller perforation that was missed, and as the bowel continues
14 to peristalsis, it denudes even further, and you have a very large
15 perforation 24 to 48 hours down the road.

16 Q. Do you think that's what happened in this case?

17 A. No. Well, in effect, yes and no. I think she had a
18 microperforation, and it finally manifested as a full-blown
19 perforation 48 hours later; so yes.

20 Q. So you know how large that perforation was when she came in
21 on 4-21?

22 A. I think at the time of surgery, a centimeter and a half to
23 two centimeters in the cecum.

24 Q. If a person has a delayed perforation, does that mean that
25 the person that performed the colonoscopy was negligent?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. They can happen. The key to my opinions in this is that ■
2 feel his description of traumatic denuded areas and then
3 biopsying them probably caused the microperf and seeing that as
4 a traumatic injury. If he had taken the precaution of jusc
5 doing a simple flat plate before she left thac day, chey would
6 have picked it up.

7 Q. ■ appreciate that, and we're going to talk about that in a
8 few minutes.

9 A. Right.

10 Q. But I think my question was: If a person has a delayed
11 perforation, does that mean that the person who performed the
12 colonoscopy was negligent, that in itself?

13 A. That, in itself, it depends on ~~if~~ there was something done
14 to provoke the microperf, which then caused the delayed
15 perforation. If someone is doing a standard colonoscopy, finds
16 that --

17 Q. I'm asking -- I think my question is a delayed perforation
18 in itself. Just because you have a delayed perforation does not
19 necessarily mean that you have negligence, does it?

20 A. I think I can't answer that question directly. I chink
21 what I'm trying to say -- and, you know, we can go around; we'll
22 correct this some way.

23 In itself, in a standard, nontherapeutic colonoscopy, a
24 delayed perforation can happen. But if a colonoscopy is done
25 and there's a therapeutic maneuver and a delayed perf happens,

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 I've got to reserve my opinion depending on what that
2 therapeutic maneuver was. And that's basically how I'm going to
3 have to answer the question.

4 Q. Fair enough. That's fair enough. Have you ever perforated
5 a colon during a colonoscopy?

6 A. I have not, but i have been present during one when my
7 gastroenterologist did it, and we took the patient immediately
8 to the OR.

9 Q. Have you ever performed a colonoscopy on a patient who
10 later on, down the road, complained of a perforated colon?

11 A. No. I have been very lucky, and that's a fact.

12 Q. Do you have Dr. Chak's operative note there? I see it's
13 highlighted.

14 A. I have the colonoscopy note.

15 Q. Okay. You see there it says In the clinical note thac
16 there's a "57-year-old lady with two out of six samples showing
17 occult blood, digital rectal exam was normal." And I -- can't
18 assume that you agree that the colonoscopy was warranted?

19 A. Yes.

20 Q. It goes on to state "Advanced colonoscope to the cecum,
21 found focal hemorrhagic areas." He watched it, found a found
22 denuded area with muscularis visible through denuded mucosa?

23 A. Right.

24 Q. Okay. You would agree with me that from this record, it
25 appears that not all the mucosa in the denuded area was missing?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 A. Well, I think what he says is in the denuded area there is
2 no mucosa.
- 3 Q. It says denuded nucosa and that the muscularis was visible
4 through it. Does that suggest chac the mucosa was absent to
5 you?
- 6 A. In that area, yes.
- 7 Q. Would you think Dr. Chak was interpreting his own writing
8 incorrectly if he were to say that chis statement does not
9 represent the absence of mucosa?
- 10 A. Well, if he's saying thac, then he's describing something
11 that I can't understand, When you have an area chat is
12 denuded -- "denuded" in itself means there is a layer missing.
13 So he's found an area where there ls no mucosa, but that the
14 muscularis, he feels, is visible.
- 15 Q. Denuded means missing?
- 16 A. In my opinion, yeah.
- 17 Q. Can it mean something else?
- 18 A. Not thac I know of in my medical practice. When I say
19 something has been denuded, it's been deleted, it's gone.
- 20 Q. It goes on to say thac the etiology of the lesion was
21 unclear but may be traumatic?
- 22 A. Correct.
- 23 Q. Would you agree with me chat from this record, it appears
24 that Dr. Chak was unsure whether it was related to air or
25 insufflation or some underlying process?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. I agree with that.

2 Q. Based on your knowledge and experience, can you tell me
3 what the possible causes of this denuded area were?

4 A. Well, since he found no exudate and no fibrous buildup or
5 anything that I would describe as a true ulceration, I would
6 agree with his appraisal, this could have been traumatic.

7 Now, "traumatic" to me, from this, was most likely scope
8 induced. I don't know what other trauma that you could pinpoint
9 on this.

10 Q. Would air insufflation?

11 A. Well, that's part of the trauma of the scope, either the
12 scope doing it or just overinflating that area.

13 Q. When there's a trauma as a result of air insufflation, how
14 does the injury occur?

15 A. Basically, if we talk about something other than
16 colonoscopy, but we're talking about an obstructing colon
17 cancer, there is air that is then passed through the colon, and
18 the ileocecal valve in some cases is so competent that there's
19 no reflux of gas into the small bowel. Therefore, the cecum,
20 being the most dependent point of the colon, will expand. The
21 critical size is 14 centimeters. At that point, the mucosa
22 rips, the submucosa, the muscularis, until finally you get a
23 Cull-blown perforation.

24 Q. The perforation starts from the inside out or the outside
25 in?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. Inside out, because you're blowing this way (indicating)
2 so you're stretching all the inner layers, and each one in turn,
3 its integrity is lost. You may at a critical point have a split
4 in the serosa, which is the outer envelope, simultaneous, and
5 that is an outpatching of the mucosa, and that finally splits.
6 it all depends on how much insufflation is performed.

7 Q. Just so I'm clear, you are able to rule out, to a
8 reasonable degree of medical certainty, any other process that
9 might have been going on with this patient except for trauma?

10 A. From the fact that he found these areas that washed off
11 easily and saw no other true sign of Oddi colitis, a polyp or
12 anything else, it sounds like this, to my knowledge and
13 reasonable medical probability, is a traumatic injury from
14 either air insufflation or passage of the scope.

15 Q. If you were still unsure as to that, would it have been
16 beneath the standard of care not to biopsy that area if she
17 would have had some underlying process?

18 A. I would have tried to biopsy something else. I would not
19 have gone to an area that I thought was a trauma. Since I have
20 effaced it, flattened it and made it very thin, I would have
21 been very afraid.

22 Q. By the way, what area did Dr. Chak take a biopsy from, do
23 you know?

24 A. Well, he says these areas were biopsied.

25 Q. Do you know where that area was that 'he biopsied?

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 A. He doesn't say, but I'm suspecting it's one of the denuded
2 areas.

3 Q. Could you tell from his deposition after you read it?

4 A. I -- It's still a little confusing when I read it, I'm not
5 sure what he was talking about.

6 Q. If he -- If you or some physician were going to biopsy this
7 area, what area would be the safest area to biopsy?

8 A. I would biopsy the mucosa next to the denuded area.

9 Q. Do you know that Dr. Chak did not do that?

10 A. There's no way from this record I know that.

11 Q. If he did do that, would that be within the standard of
12 care?

13 A. It would have been closer to the standard of care, yes.

14 Q. Would it have been within the standard of care?

15 A. I would suspect, yes.

16 Q. What are the signs a physician looks for in a -- in
17 diagnosing a perforated colon, after a colonoscopy?

18 A. Immediately after a colonoscopy the patient can have
19 abdominal distension, the patient can be a little bit more
20 uncomfortable, can have some diaphragmatic and shoulder
21 discomfort, but there's nothing terribly specific immediately.

22 What needs to be is the suspicion that something could have
23 happened or the area is extra thin, or I just want to make sure
24 everything's okay before I leave. It's a clinical suspicion
25 more than an actual finding, unless I've pushed the scope

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 through and I know and I *see* the small bowel.

2 Q. Okay. If you have a clinical suspicion or your suspicion
3 is raised because you saw a traumatic area or an area that may
4 have resulted from trauma on the scope, do you automatically do
5 an X-ray, or do you look for signs?

6 A. No, I automatically do an X-ray.

7 Q. Is that the standard of care?

8 A. I believe from the general surgical population, those of us
9 that see these and do these, I would say that would be the
10 standard of care.

11 Q. Including gastroenterologists?

12 A. I can't talk for a gastroenterologist, but I *know* what my
13 gastroenterologists here do. I mean, we see something like
14 chat, that patient would have had a flat place just for KYA.

15 Q. Based on your review of the records, after ~~the~~ colonoscopy,
16 before she went home, the recovery records, was there anything
17 specific in those records to suggest that Mrs. Fisher may have
18 had a perforated colon?

19 A. Again, if it's a microperforation, she's not going to show
20 much. She may have some discomfort, but I looked at those very
21 carefully and they weren't really way out of line. And again,
22 that's part of the problem with a microperf. It's a clinical
23 suspicion of what you saw at the time of your colonoscopy that
24 would provoke you to get the X-ray.

25 Q. And if I understood you correctly earlier, you said that a

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 microperf will show free air in the abdomen 15 to 25 --
- 2 A. Twenty minutes.
- 3 Q. -- after the microperforation?
- 4 A. That's correct.
- 5 Q. *And* that is for all microperforations?
- 6 A. Well, you've got to remember you're putting in tons of air
- 7 and it's like a little pin hole, like a hole in a balloon; it's
- 8 leaking. It should snow up. That's a small crescent, and the
- 9 patient may not be having tremendous symptoms at ail, but the
- 10 free air is there and that's the time to do something because
- 11 they're fuily prepared.
- 12 Q. At 20 minutes how much air would ycu see?
- 13 A. Maybe 50 to 100 cc's.
- 14 Q. When would a patient start experiencing symptoms?
- 15 A. Maybe within 24 hours.
- 16 Q. So in 20 minutes you've got 50 cc's of air. Would that be
- 17 a constant flow of air?
- 18 A. Well, the colon really has not been decompressed totally;
- 19 so there's still going to be air. You're also going to be
- 20 having small bowel gas getting in there; so there will be air
- 21 building up over the next hour, six hours, twelve hours. As the
- 22 patient swallows, it's stili going to be Leaking air.
- 23 Q. I'm wondering how much air you'd see in the cavity if we
- 24 checked In six hours?
- 25 A. It probably would be a good amount of a crescent, probably

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 a couple hundred cc's worth of air.

2 Q. Would you expect that a patient would not exhibit any
3 symptoms at that point?

4 A. Again, air isn't an irritant. Stool is an irritant, GI
5 content is an irritant, and since this patient at six hours
6 still did not have full transit and had a perfectly clear colon
7 from a liter -- four liters of GoLYTELY, there's still little
8 contamination or irritant.

9 So what they're going to have is a little bit of abdominal
10 distension, maybe a little discomfort, but nothing that would be
11 out of the ordinary that would send the flag up within the first
12 few hours.

13 Q. So at six hours you would not expect the patient to have
14 any discomfort?

15 A. Well, they'll have post-colonoscopy discomfort; they may
16 have increased gas.

17 Q. Abnormal discomfort?

18 A. Abnormal for a post-colonoscopy? Probably not.

19 Q. How long after a colonoscopy would you associate discomfort
20 that's normally associated with colonoscopy?

21 A. I've had discomfort up to a day, just soreness, depending
22 on how much manipulation was done, And there were patients that
23 will have up to two days of discomfort if they've had multiple
24 surgeries in the past.

25 Q. And you know from reading the records that Mrs. Fisher was

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 given specific discharge instructions for what to look for after
2 her -- after she went home?

3 A. Yes, I'd like to reread those. I think they were pretty
a much standard. I have the flow sheet.

5 Q. I have a copy here.

6 A. Would you mind?

7 Q. Not at all.

a A. Thank you.

9 (Handed.)

10 Right.

11 Q. Standard?

12 A. Very standard.

13 Q. Okay. Do you know when she contacted Dr. -- I'm sorry,
14 were you finished?

15 A. I am finished.

16 Q. Do you know when she contacted Dr. Chak she first came --

17 A. There is no --

18 Q. -- after the procedure?

19 A. After the procedure, the only thing that I have heard is
20 that he called -- she called him about a day and a half later.
21 Now, I have not read anything, but I have been told that she
22 called him a day and a half later. I have not found anything in
23 his records either, but apparently she did call him.

24 Q. She did call him.

25 MR. WILLOUGHBY: Off the record.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 (Discussion held off the record.)

2 MR. WILLOUGHBY: Back on the record.

3 BY MR. WILLOUGHBY:

4 Q. Doctor, are you familiar with Ramsey score -- Ramsey score?

5 A. Not really.

6 Q. If I showed it to you, maybe it might --

7 A. Okay. It might be in somebody else's.

8 (Handed)

9 And what are they measuring?

10 Q. That's what I'm asking you.

11 A. I don't know because I don't use the Ramsey score.

12 Q. It is a measurement of sedation.

13 A. Okay. And which I suspect ten would be the highest level
14 of sedation? I have no idea because we don't use the Ramsey
15 score.

16 Q. Four to six, I think, is deep sedation.

17 A. Okay. I don't use this in any of our procedures; so I'm
18 not familiar with it.

19 Q. Okay. Can you tell me to a reasonable degree of medical
20 certainty what caused Mrs. Fisher's perforation?

21 A. I think the patient either had it from overinflation or one
22 of the biopsies actually caused the microperforation.

23 Q. Do you know whether it was the overinflation or whether it
24 was the biopsy?

25 A. Can't tell exactly, but the clinical -- I have the path

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 report that I think is "Cecum biopsy, benign lymphoid aggregate.
2 Focal area of hemorrhagic cecum, round area appears to have
3 denuded mucosa."

a So if I take this at its face value, it sounds like there
5 was a denuded area of muscularis or something that was biopsied
6 in one of the areas that I thought was super thin and that may,
7 in fact, have caused a perf.

3 Q. So that pathology report gives you the message that the
9 muscularis was biopsied?

10 A. Well, It doesn't say anything. It just says focal area of
11 hemorrhage appears to have denuded mucosa. So this path report
12 really doesn't give me a whole lot to go on. I don't know what
13 else they're talking about. The final diagnosis was "benign
14 lymphoid aggregate," I don't know where that's from.

15 Q. What does that mean?

16 A. Basically it's benign lymphoid tissue. Now, lymphoid
17 tissue, unfortunately, is in the wall closer to the muscularis
18 and serosa than anywhere else; so this is a really thin area.

19 Q. So that's an area that is closer to the --

20 A. Outside of the bowel.

21 a. -- outside of the bowel than it is the mucosa?

22 A. Correct.

23 Q. But you don't know whether it was the air insufflation or
24 the biopsy itself that had caused the perforation?

25 A. Well, you know, it's kind of a chicken before the egg.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

- 1 I've overinflated, or I've performed some form of colonic scope
2 injury, which has already given me an area that's stretched and
3 now I'm taking a biopsy of that area.
- 4 Q. But you don't know where he took the biopsy from, do you?
- 5 A. Well, if it **shows** denuded mucosa, in other words, absence
6 of, in my definition, it sounds like he took the denuded area
7 rather than mucosa because they don't describe mucosal findings
8 in **the** pathology; so I think my original interpretation of him
9 taking the biopsy of the denuded area, per his report, is pretty
10 close.
- 11 Q. But my question is: Can you tell me to a reasonable degree
12 of medical certainty whether it was the air insufflation or the
13 biopsy that caused the perforation?
- 14 A. I can't.
- 15 Q. Okay.
- 16 A. It's impossible.
- 17 Q. Okay. Do you know that perforation is a complication of
18 colonoscopy?
- 19 A. Yes.
- 20 Q. And you know that Mrs. Fisher was aware of that?
- 21 A. Yes, I do.
- 22 Q. Have you heard of the term "medical judgment"?
- 23 A. Yes.
- 24 Q. What does that mean?
- 25 A. Based on a doctor's clinical skill, he'll make a decision

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 based on the clinical presentation.

2 Q. Have you ever Seen sued for medical negligence?

7 A. Oh, yes.

4 Q. *And* has a physician written up a report against you --

5 A. Oh, yes.

6 Q. -- critical of your care? And you're familiar -- you're
7 aware thac two physicians can have different methods of
8 approaching the same problem without either one of them being
9 beneath the standard of care?

10 A. That's true.

11 Q. And you would agree with me thac just because there was an
12 unfavorable outcome does not mean that there was medical
13 negligence?

14 MS. ROLLS: in chis case or in general?

15 3Y MR. WILLOUGHBY:

16 Q. In general.

17 A. In general, thac's true.

18 Q. Just so we're clear, the only criticisms you have against
19 Dr. Chak are, one, chat he biopsied a denuded area that he
20 believed may have been the result of some trauma?

21 A. Correct.

22 Q. And that he did not get an upright or a KUB after the
23 procedure?

24 A. That's correct.

25 Q. Are there any ocher criticisms chat we 'nave not discussed

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 today?

2 A. Well, again, if it shows in her deposition thac he called
3 her -- that she called him, and I'm basically reconstructing it
4 from something that Donna and I talked about, that she called
5 him saying she hasn't had a bowel movement and he prescribed an
6 enema, if that is his response, then thac, I feel, is
7 inappropriate.

8 Patients that have jusc had a colonoscopy, who have been
9 completely cleaned out, should not have a problem with inability
10 to pass bowel, that that should have been the sign that maybe
11 something else was going on and further investigation such as a
12 KUB or even a trip to the ER may have been indicated.

13 Q. Can the narcotics used during colonoscopy cause a person to
14 become constipated?

15 A. No.

16 Q. Cannot?

17 A. No, because the amounts being used are not significant and
18 by chac time frame should be gone and normal bowei function
19 should have returned.

20 Q. Do you know whether she was deeply sedated?

21 A. Well, based on the levels that she gave from the sedation
22 record, I don't think she was that terribly sedated. And ■
23 believe, if I'm incorrect I'm going to correct myself, that she
24 was reversed. She received 5 -- 75 milligrams of Demerol and
25 two milligrams of Versed that were given during the procedure,

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

I which really isn't a whole heck of a lot.

2 Q. Does 75 milligrams of Demerol **and** two milligrams of Versed
3 effect **all** people the same way?

4 A. No, it doesn't.

5 Q. Could these medications cause her to be constipated?

6 A. I *don't* believe so.

7 Q. So it's not possible?

8 A. If she was getting 75 milligrams every four hours for two
9 days, I'd say you've got a very good argument, but a one-shot
10 dose like this, no.

11 Q. If the facts show that Mrs. Fisher took a fleet enema and
12 within an hour or two hours of first calling Dr. Chak, **called**
13 him back --

14 A. Okay.

15 Q. -- and he said immediately get to the hospital --

16 MS. KOLIS: I'll stipulate for the record that those
17 are the facts. How is that one? Make it nice and easy.

18 3Y MR. WILLOUGHBY:

19 Q. Would **that** one-hour delay have changed the scenario or one-
20 to two-hour delay have changed the scenario?

21 A. No.

22 Q. Okay. If the facts show that Mrs. Fisher -- Strike that.

23 Are there any other criticisms that you have against
24 Dr. Chak that we have not already discussed?

25 A. I don't believe so.

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

1 MR. WILLOUGHBY: Can I have just a minute?

2 MS. KOLIS: Sure.

3 THE WITNESS: Please.

4 (Brief recess taken.)

5 MR. WILLOUGHBY: Doctor, I don't have any more
6 questions for you. You're very gracious.

7 THE WITNESS: Thank you.

8 - - -

9 (Signature not waived.)

10 - - -

11 (Thereupon, the deposition was concluded at
12 2:59 o'clock p.m. on Wednesday, October 22, 1997.)

13 - - -

14

15

16

17

18

19

20

21

22

23

24

2s

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

A F F I D A V I T

i
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF _____ }
COUNTY OF _____, } SS:

Richard E. Schlanger, M.D., Ph.D., having been duly
placed under oath, deposes and says that:

I have read the transcript of my deposition taken on
Wednesday, October 22, 1997, and made all necessary changes
and/or corrections as noted on the attached correction sheet, if
any.

Richard E. Schlanger, M.D., Ph.D.

Placed under oath before me and subscribed in my
presence this _____ day of _____, 19____

Notary Public _____

My Commission Expires: _____

MC GINNIS & ASSOCIATES, INC.
COLUMBUS, OHIO (614) 431-1344

C E R T I F I C A T E

- - -

State of Ohio,)
County of Franklin,) SS:

- - -

I, Rose Marie Prater, Registered Professional Reporter and Notary Public in and for the State of Ohio, hereby certify that the foregoing **is** a true and accurate transcript of the deposition testimony, taken under oath on the date hereinbefore set forth, of

Richard E. Schlanger, M.D., Ph.D.

I further certify that I am neither attorney or counsel for, nor related to or employed by any of the parties to the action in which the deposition was taken, and further that I am not a relative or employee of any attorney or counsel employed in this case, nor am I financially interested in the action.

Rose Marie Prater,
Registered Professional
Reporter and Notary Public
in and for the State of
Ohio.

My Commission Expires:
September 16, 2002.

*** CAUTION ***

This certification bears an original signature in nonreproducible ink. The foregoing certification of the transcript **does** not apply to any reproduction of the same not bearing the signature of the certifying court reporter. McGinnis & Associates, Inc. disclaims responsibility for any alterations which **may** have been made to the noncertified copies of this transcript

- - -