

COUNTY OF CUYAHOGA

IN THE COURT OF COMMON PLEAS

JANET L. PORACH, Administratrix of
the Estate of JOHN G. PORACH, JR.,

Plaintiff,

VS.

No. 316045

FLORENZO S. LALLI, M.D.,

Judge Calabrese

Defendant.

VIDEO DEPOSITION OF JEFFREY SELWYN, M.D.

MARCH 24, 1998

TUCSON, ARIZONA

BOULEY, SCHLESINGER, DI CURTI & SCHIPPERS
Registered Professional Reporters
100 North Stone Avenue
Suite 1003
Tucson, Arizona 85701
(602) 623-7573

1 Video Deposition of JEFFREY SELWYN, M.D.
2 March 24, 1998
3 Tucson, Arizona

4 I N D E X

6 EVENT	PAGE
7 Examination by Mr. Mishkind	5
8 Examination by Mr. Rispo	72
9 Reexamination by Mr. Mishkind	113
10 Reexamination by Mr. Rispo	124
11 Reexamination by Mr. Mishkind	129
12 Reexamination by Mr. Rispo	130
13 Deposition Exhibits marked for identification	
14 Exhibit 1	
15 Exhibit 2	16

16 * * * * *

1 APPEARANCES:

2 BECKER & MISHKIND CO., L.P.A.
3 By Mr. Howard D. Mishkind, Esquire
4 Skylight Office Tower
5 1660 West 2nd Street, Suite 660
6 Cleveland, Ohio, 44113
7 For the Plaintiff

8 WESTON, HEARD, FALLON
9 By Mr. Ronald Rispo, Esquire (telephonically)
10 2500 Terminal Tower
11 Cleveland, Ohio, 44113
12 For the Defendant

13 MS. LILY GANN, Videographer

14

15 BE IT REMEMBERED that pursuant to notice for
16 taking depositions in the above styled and numbered
17 cause, the deposition of JEFFREY SELWYN, M.D., was
18 taken upon oral examination at the office of Dr.
19 Selwyn, 6365 East Tanque Verde Road, Suite 120, in the
20 City of Tucson, County of Pima, State of Arizona,
21 before Leber Schlesinger, a Notary Public in and for
22 the State of Arizona, on March 24, 1998, commencing at
23 the hour of 10:00 a.m. on said day, in a certain cause
24 now pending before the Cuyahoga County Common Pleas
25 Court.

26 ** ** *

27 MR. MISHKIND: Ron, before we start the
28 video I'm going on the record with the court reporter
29 in terms of preliminaries relative to the deposition,

1 then we'll have the video start with the swearing in of
2 the witness.

3 MR. RISPO: That's fine.

4 MR. MISHRIND: Let the record reflect
5 that today is March **24**, 1998 and I am here in the
6 office of Dr. Jeffrey Selwyn in Tucson, Arizona in case
7 No. **316045**, the case is captioned Janet L. Porach,
8 Administratrix of the Estate John G. Porach, Junior,
9 versus Lorenzo S. Lalli, M.D.

10 It is case No. **316045**, assigned to Judge
11 Anthony Calabrese. This case is set to commence trial
12 next week in Judge Calabrese's room and the purpose for
13 this deposition today is to perpetuate the testimony of
14 Dr. Selwyn who is one of Plaintiff's expert witnesses
15 that will be called on direct examination at the trial
16 of this matter.

17 Let the record reflect that the
18 deposition is being taken by agreement between
19 Mr. Rispo, counsel for Dr. Lalli, and the undersigned.

20 Let the record further reflect that the
21 formalities with regard to notice and service are
22 waived, and the technicalities with regard to
23 Mr. Rispo, because of his schedule being in Cleveland
24 and not available in person, also are waived; and the
25 requirements with regard to court reporter and video

1 are also waived in terms of being out of state court
2 reporter and out of state video.

3 MR. RISPO: That's correct.

4 MR. MISHKIND: Also, just in case we're
5 running short on time, can we stipulate that there will
6 be a waiver with regard to the filing of the transcript
7 and the filing of the video as well.

8 MR. RISPO: Yes, of course.

9 MR. MISHKIND: What I will probably have
10 done, I'll probably have Barry Hirsh from Video
11 Discovery play the video at the time of trial. So I'll
12 probably get the transcript and the video and just
13 entrust it to him for purposes of playing it at the
14 time of trial. Is that okay?

15 MR. RISPO: Of course.

16 MR. MISHKIND: I think that's all we need
17 to cover, isn't it?

18 MR. RISPO: I think so.

19 MR. MISHKIND: With that in mind, why
20 don't we get underway?

21 MS. GANN: This is the beginning of the
22 videotaped deposition of Dr. Jeffrey Selwyn, M.D., in
23 the case of Janet L. Porach, Administratrix of the
24 estate of John G. Porach, Junior, Plaintiff, versus
25 Lorenzo S. Lalli, M.D., Defendant, Case No. 316045.

1 The deposition is being taken in Tucson, Arizona at
2 6365 East Tanque Verde, Suite 120, on March 24th, 1998.
3 The time is 10:26 a.m.

4 Counsel, please introduce yourselves,
5 then the court reporter will swear in the deponent.

6 MR. MISHKIND: My name is Howard Mishkind
7 and I am the attorney that represents the estate of
8 John Porach.

9 MR. RISPO: My name is Ron Rispo and I am
10 representing the defendant, Dr. Lalli.

11 JEFFREY SELWYN, M.D.,
12 Having been first duly sworn to state the truth, the
13 whole truth and nothing but the truth, testified on his
14 oath as follows:

15 EXAMINATION

16 BY MR. MISHKIND:

17 Q Would you please state your full name for
18 the Court and the jury, please?

19 A Jeffrey I. Selwyn.

20 Q What is your profession, please?

21 A Physical.

22 Q Do you have an area of practice that you
23 specialize in?

24 A Yes, internal medicine.

25 Q What is your professional address, Dr.

1 Selwyn?

2 A 6365 East Tanque Verde Road, Suite 120,
3 Tucson Arizona, 85715.

4 Q In fact, are we present in your office
5 today in Tucson, Arizona for purposes of your
6 testimony?

7 A Yes.

8 Q So, the jury understands, Mr. Rispo is
9 present by phone, because of an unavoidable conflict
10 that he had that prevented him from being present in
11 Arizona for the deposition. You understand that as
12 well, correct?

13 A Yes, I do.

14 Q For the benefit of the jury, would you
15 please tell them why it is that we are here today for
16 your deposition?

17 A I understand that the trial is set for
18 next week, I believe March 30th or March 31st. Due to
19 my schedule and conflicting schedules with many of my
20 partners I was unable to leave the Tucson area. And
21 also unable to reschedule several days of patients.

22 I appreciate the fact that both Mr. RISPO
23 and Mr. Mishkind are willing to take the deposition
24 here in Tucson.

25 Q Thank you, Doctor.

1 Would you please tell the jury about your
2 education, starting with college, and then just for
3 simplicity purposes continuing through medical school?

4 A I graduated from the University of
5 Wisconsin in Madison, Wisconsin with a Bachelor of
6 Science degree in 1968. I then proceeded to medical
7 school at the State University of New York in Brooklyn,
8 New York, from 1968 to 1972.

9 In 1972 through 1973 I performed a
10 medical internship at the State University of New York,
11 Kings County Hospital Center in Brooklyn, New York.
12 And from 1973 through 1975 I did two years of medical
13 residency in the Tucson Hospitals Medical Education
14 Program.

15 Q You used the term "internship" and
16 "residency." Briefly would you explain what is
17 involved in that?

18 A Days ago the terminology was a bit
19 different. When I trained in the '70s, internship was
20 considered the first year of postgraduate training and
21 residency was considered anywhere from years two, three
22 and four after graduate training. In the present times
23 it's all considered and lumped into one residency
24 program.

25 Q Are you licensed to practice medicine

1 here in the State of Arizona?

2 A Yes, I am.

3 Q When were you first licensed, please?

4 A 1973.

5 Q When I first started questioning you, you
6 said you have a specialization in internal medicine,
7 was that the term you used?

8 A Yes, that's correct.

9 Q Are you board certified in internal
10 medicine?

11 A Yes, I am.

12 Q Tell the jury, if you would, what does it
13 mean to be board certified and what process you had to
14 go through to become board certified?

15 A Board certification, which I received in
16 1975, requires a completion of a medical residency. It
17 also requires a two-day rigorous written exam on all
18 medical subspecialties from general internal medicine,
19 allergy, immunology, kidney disease, cardiovascular
20 disease. That test is taken over, as I mentioned, a
21 two-day period; if you pass the examination you are
22 awarded board certification.

23 Q And again you were board certified when?

24 A 1975.

25 Q Do you have hospital affiliations here in

1 Tucson?

2 A Yes, I do.

3 Q What hospitals?

4 A I'm Affiliated with Tucson Medical
5 Center, St. Joseph's Hospital, El Dorado Hospital,
6 Northwest Hospital, Summit Hospital, which is a
7 rehabilitation center, and NovaCare, which is also a
8 rehabilitation center.

9 Q Outline, if you would for the jury, some
10 of the professional organizations that you are a member
11 of.

12 A I am a member and a fellow of the
13 American College of Physicians for at least the past 20
14 years. I am a member of the Arizona Society of
15 Internal Medicine, and the American Society of Internal
16 Medicine.

17 I am also a member of the Pima County
18 Medical Society, which is our local society, for the
19 general medical population.

20 Q What does the term "fellow" mean?

21 A A fellow is an award that is issued to an
22 internist that has satisfied board certification, a
23 complete medical residency, has also been in practice
24 for, I believe at that time, it was minimum of five
25 years. It also requires several letters of

1 recommendation to be written as a sponsorship from
2 already fellow physicians from the American College.

3 It requires that community service be
4 done, and also requires that articles in the literature
5 be published. It also requires other positions with
6 hospital settings, such as committees, what have you.

7 Q Dr. Selwyn, would you please describe so
8 the jury understands the nature of your clinical
9 practice here in Tucson, Arizona?

10 A My practice is relegated to full-time
11 outpatient and inpatient medicine. What that means is
12 I spend a minimum of eight hours a day in my office,
13 seeing patients, as well as making rounds in the
14 hospital in the morning and occasionally in the evening
15 and on weekends when I'm on-call, to continue their
16 followup, besides that done in my office.

17 Q What percentage of your professional time
18 do you spend in the active clinical practice of
19 medicine?

20 A A hundred percent.

21 Q Doctor, tell the jury whether you have
22 ever previously been accepted and received as an expert
23 witness in other medical malpractice cases?

24 A Yes, I have.

25 Q Is this a frequent or an infrequent

1 process that you participate in?

2 A I would say I review and participate in
3 cases approximately one to two times per year over the
4 past three to four years.

5 Q When you have served as an expert, have
6 you been providing opinions for patients such as
7 Mr. Porach or the estate of Mr. Porach, or for
8 physicians such as Dr. Lalli?

9 A Most of the time I have been providing
10 information on behalf of the patients. There have been
11 several occasions that I can recall that have been
12 applying information regarding the physicians.

13 Q So you have testified both in the defense
14 of a doctor, as well as on behalf of a patient?

15 A Yes, I have.

16 Q Have you ever given expert testimony at
17 my request on behalf of any of my clients?

18 A No, I have not.

19 Q In fact, Dr. Selwyn, before this morning
20 have you and I ever met in person before?

21 A No.

22 Q From your review in this case, would you
23 tell the jury what your understanding is as to the type
24 of doctor Dr. Lalli is?

25 A Dr. Lalli is an internist who renders

1 primary care medicine.

2 Q Do you and Dr. Lalli share the same board
3 certification?

4 A Yes, we do.

5 Q Doctor, are you familiar with the term
6 that's going to be used throughout this case and
7 perhaps the jury has already heard it by the time they
8 hear your testimony, "standard of care"?

9 A Yes.

10 Q Tell the jury what that term means to
11 you.

12 A Standard of care means the level of care
13 that a reasonable and prudent internist or primary care
14 physician would render under similar circumstances. It
15 very often implies a minimum or standard level of care
16 that is based on community accepted levels of
17 competence.

18 Q Are you familiar with what the standard
19 of care is in Cleveland, Ohio?

20 A Yes, I am.

21 Q How is that, Doctor?

22 A I have previously been an expert witness
23 on another case, one or two cases in Cleveland, Ohio,
24 as well as mentioning that the standard of care in my
25 mind is truly a national standard of care.

1 Q When you say a national standard of care,
2 what exactly do you mean, so the jury understands, what
3 happens in Tucson and how that might be compared to
4 what happens in Cleveland?

5 A I think the level of care that's expected
6 from any internist or primary care doctor is really
7 equitable across the board. In other words, when a
8 physician is caring for a patient with similar
9 circumstances, I feel that the accepted standards of
10 care should be the same whether it's in Cleveland or in
11 Tucson.

12 Q And is it your understanding, Doctor,
13 that the standard of care being a national standard of
14 care, is what is accepted by all internists throughout
15 the United States?

16 A Yes, that's true.

17 Q Doctor, before I turn to your review on
18 John Porach and his tragic death, what I'd like you to
19 do, if you would for the jury, is help me with the
20 definition of certain medical terms. Just so that we
21 have a working background with regard to matters that
22 may be of relevance in this case. Will you do that for
23 me?

24 A Surely.

25 Q When we referred to myocardium, what is

1 that, please?

2 A That's the muscle of the heart.

3 Q The term myocardial infarction?

4 A Means insult or damage to the muscle of
5 the heart.

6 Q When one refers to a myocardial
7 infarction, is there another term that is commonly
8 used?

9 A Yes, heart attack.

10 Q So, we referred to myocardial infarction,
11 heart attack is an interchangeable term?

12 A Yes.

13 Q What about atherosclerosis?

14 A That's the process of buildup of
15 cholesterol deposits and debris in the lining or the
16 wall of an artery.

17 Q Thrombus or thrombosis?

18 A Thrombus is an actual clot or clod in an
19 artery. Thrombosis is the actual process which
20 eventually leads to the clot.

21 Q Thrombolytics, what does that mean?

22 A Thrombolytics are medications used to
23 dissolve clots.

24 Q Ventricular arrhythmia.

25 A That's an abnormal electrical rhythm

1 disturbance that can very often lead to untoward
2 outcomes; fatal events for example.

3 Q EKG?

4 A ERG means electrocardiogram. That is a
5 tracing which depicts the electrical action of one's
6 heart.

7 Q Coronary arteries?

8 A It's a system of arteries that arise from
9 the major vessels going toward the heart that nourish
10 all areas, all muscle areas of the heart.

11 Q Myocardial ischemia, is that a different
12 term than myocardial infarction?

13 A Yes, it is.

14 Q Would you explain to the jury, please?

15 A Myocardial ischemia is a term that
16 reflects a lack of blood flow to the heart muscle. It
17 does not necessarily mean inherent injury, just a lack
18 of flow causing problems in the heart muscle, but not
19 damage.

20 Q Doctor, before the deposition began, I
21 had marked for identification Exhibit 2 and I'd like to
22 hand this to you at this point and ask you whether you
23 would first identify for the jury what is shown in
24 Exhibit 2, and then I have some questions for you
25 relative to same.

1 (Exhibit 2 marked for identification.)

2 A This depicts the heart muscle and the
3 nourishing supply of coronary arteries.

4 Q There are a number of lines and branches
5 on that document?

6 A Yes.

7 Q Is that document, just so the jury has a
8 basic understanding of the coronary arteries, would
9 that be helpful in terms of showing the average anatomy
10 in terms of the location of the coronary arteries and
11 how many coronary arteries there are?

12 A Absolutely.

13 Q Would you go ahead and very briefly tell
14 us what we're looking at.

15 A This reflects the major circulation of
16 coronary arteries as it supplies the heart muscle.

17 There are two main systems, the left
18 system which in this diagram has two major vessels.
19 And the right system which has one major vessel.

20 Off all systems come branches that supply
21 smaller areas of the heart muscle. In the right system
22 the name of the artery is the right coronary artery,
23 and that nourishes the bottom portion of the heart.

24 On the left side, the left system major
25 vessels include the anterior descending artery which is

1 this artery here, (indicating). And the circumflex
2 artery, which is this artery here.

3 The anterior descending artery supplies
4 the majority of muscle of the left ventricle, which is
5 the major pumping chamber of our heart. It also
6 supplies what's called the septum of the heart.

7 If we were able to open this straight
8 down the middle and you were able to look in and see
9 what was inside you would see a septum which is like a
10 partition which extends from the top to the bottom.
11 That septum divides the left side of the heart and its
12 chambers from the right side of the heart and its
13 chambers.

14 The left anterior descending artery as I
15 mentioned previously does not only supply blood flow to
16 the left ventricle out here, but also supplies blood
17 flow to the septum inside. These are major contracting
18 muscles of the heart, the septum and left ventricle.

19 If there's an obstruction in the left anterior
20 descending artery, major areas of insult can occur
21 either in the left ventricle of the heart out here, or
22 in the septum inside.

23 Q In your John Porach's situation, just so
24 the jury understands, which artery was involved that
25 had some obstruction?

1 A There was an obstruction just after the
2 branching of the left main coronary artery into its two
3 branches, the anterior descending and circumflex. The
4 obstruction was in the anterior descending artery.

5 Q This anterior descending, is that the
6 artery which that caused John Porach's heart attack?

7 A Yes, it was.

8 Q Okay. I think that's sufficient for the
9 time being.

10 I want to ask you, Doctor, what is the
11 standard of care for a physician when presented with
12 certain complaints that might be consistent with a
13 heart attack?

14 A Standard of care in my mind would be to
15 assess this problem immediately. When a patient
16 presents with symptoms that may be consistent with a
17 heart attack an immediate red flag should be raised. A
18 physician should think of all possibilities that can
19 include or exclude damage to the heart muscle. First
20 and foremost would be a heart attack.

21 If this is entertained in the physician's
22 mind, appropriate measures have to be enacted
23 immediately because this is a very urgent emergent
24 medical situation.

25 Q We all hear about from time to time and

1 perhaps know people that have had a heart attack, can
2 you very briefly explain the evolutionary process in
3 terms of how an artery leads to a heart attack?

4 A What happens is in an artery, in this
5 case, let's take the left anterior descending artery,
6 there may be moderate or severe degrees of cholesterol
7 and atherosclerotic buildup in the lining or the wall
8 of the artery, this is inside the artery. In many of
9 us it can vary from minimal to severe.

10 When a heart attack occurs, the area of
11 plaque which is actually this conglomeration of
12 material in the lining of an artery, separates from the
13 wall of an artery. As a result of this, the separation
14 or the little crack, if you will, in the area becomes a
15 very, very adhesive space, it sucks up a lot of
16 platelets and other products in our blood as it becomes
17 a very sticky area. This is the beginning or the
18 evolution of a clot. When the clot forms and as it
19 grows as more debris sticks to it, an analogy would be
20 a snowball rolling, as it rolls it picks up more snow
21 because it's sticky and very adhesive.

22 With a clot, as all the material begins
23 to stick it, it becomes larger and larger, until which
24 time it obstructs the further flow of blood through
25 that artery. As a result of that, blood cannot reach

1 its end point, which in this case would be the left
2 ventricle or heart muscle. And as a result of that,
3 damage to that heart muscle can occur.

4 Sometimes another analogy can be rust in
5 a pipe. For instance if we have pipes that begin to
6 build up rust, the rust adheres or sticks to the inside
7 of the pipe, there's not a rupture of the pipe
8 specifically, but this is an internal process. And as
9 the water keeps flowing past this area of rust, it may
10 dislodge part of the rust that's stuck on the wall of
11 the pipe.

12 So this analogy is similar to actually
13 what happens with a rupture of a plaque inside our
14 arteries, as a result the clot forms and blood flow
15 ceases.

16 Q Do people have certain risk factors that
17 perhaps increase the risk or the potential for having a
18 heart attack?

19 A Yes, they certainly do.

20 Q When one references to risk factor for
21 coronary artery disease, and specifically in John
22 Porach's case, did he have certain risk factors for the
23 existence of coronary artery disease?

24 A Yes, he did.

25 Q And would you tell the jury what those

1 risk factors were?

2 Before you do that, when we refer to
3 coronary artery disease, are we referring to the
4 buildup of that plaque inside the arteries or the rust
5 inside the pipe you were referring to before?

6 A Yes.

7 Q Go ahead and tell the jury as to his risk
8 factors.

9 A From review of all the records,
10 Mr. Porach had several risk factors, No. 1, he was a
11 male of 45 years old, which is a risk factor, in and of
12 itself. He had used tobacco for many years, I believe
13 he smoked at least a pack a day for well over 20 years,
14 and had stopped a year prior to his death.

15 He had elevated cholesterol, as well
16 being a risk factor. And I believe his last risk
17 factor -- which is less of one, but still a risk factor
18 -- was moderate obesity.

19 Q Meaning what?

20 A He was overweight.

21 Q Did he have any other health issues that
22 you're aware of, whether they relate to coronary artery
23 disease or otherwise?

24 A Yes, he also had gout, which is an
25 elevated uric acid in our blood streams, which can

1 cause painful joints, most of the time that's expressed
2 as a painful great toe.

3 Q Other health factors that in any way
4 impacted to the likelihood of him having coronary
5 artery disease or the likelihood of him having a heart
6 attack?

7 A Nothing else that I can recall.

8 Q If the patient has established coronary
9 artery disease, are there in this day and age effective
10 treatments for this buildup of plaque inside the artery
11 or the rust inside the pipe?

12 A Yes, there are.

13 Q Would you explain in general terms what
14 the treatment modalities are for the treatment of
15 coronary artery disease?

16 A In medical parlance we call this
17 secondary prevention. And what that means is if we
18 have a patient that we already know has coronary
19 disease, if it's documented, if it's established from
20 whatever tests we may have done, we already know that
21 something has started, what can we do to prevent
22 further progression, that's called secondary
23 prevention.

24 Primary prevention would be trying to
25 treat the patient in an expectant way to allow no

1 progress to coronary artery disease.

2 When someone has known coronary artery
3 disease we try to modify the risk factors; you can't
4 modify the fact that a male is a male, but what you can
5 do is use dietary regimens to reduce weight loss --
6 excuse me, to induce weight loss, and to reduce the
7 risk from being overweight.

8 You can treat high blood pressure if
9 someone does have hypertension. You can advise and
10 counsel on stopping smoking which could be one of the
11 worse risk factors amongst all. You can counsel people
12 on exercise techniques, to improve their cardiovascular
13 fitness. You can also if one has diabetes which is
14 another unrelated, but albeit specific risk factor for
15 coronary disease, treat diabetes very carefully and
16 prudently to continue risk reduction.

17 So I think that's a general overview of
18 what I would do if I have a patient that has known
19 coronary artery disease.

20 Q Are internists such as yourself and Dr.
21 Lalli trained to recognize risk factor for coronary
22 artery disease?

23 A Yes.

24 Q Why is that important, Doctor?

25 A Because there's no question that

1 modification of risk factors, if they're elicited, can
2 save lives.

3 Q Is it important, Doctor, for a patient
4 that is experiencing a heart attack, or a myocardial
5 infarction to be in an emergency room or a coronary
6 care unit as early as possible?

7 A Yes, it is.

8 a Would you explain why?

9 A The actual risk of complications of a
10 heart attack are at its highest level very early on in
11 the event. In other words, if a heart attack is
12 evolving the risk of complication is extremely high in
13 the immediate period which may be anywhere from zero to
14 12 hours.

15 Therefore it's imperative that proper
16 assessment and evaluation of that patient occur
17 immediately, be it putting them in a hospital coronary
18 care unit setting; if they arrive in an emergency room,
19 using medications to dissolve clots; putting them on
20 oxygen, putting them on a heart monitor, having a nurse
21 attend to them on a one-on-one basis if possible. In
22 other words, its observation of the very intense
23 degree. So if treatment and observation follows you
24 can severely reduce the risk of complication from heart
25 attack.

1 Q Doctor, would **it** be fair to say then that
2 a patient is more likely to survive if complications of
3 a heart attack occurs when the person is in the
4 hospital being monitored?

5 A Yes.

6 Q Does the fact that a patient such as John
7 Porach had certain risk factors for coronary artery
8 disease, meaning that he is going to have a heart
9 attack?

10 A No.

11 Q Let's talk about John Porach. When I
12 contacted you, Doctor, relative to this matter, would
13 you tell the jury essentially what as you understood **it**
14 to be the assignment that you were asked as to whether
15 you were willing to do?

16 a When I was contacted by Mr. Mishkind I
17 was asked to review certain records and formulate an
18 opinion as to whether Dr. Lalli fell below the standard
19 of care.

20 Q And have you been provided with
21 information in connection with this case in order to
22 arrive at the opinions that you hold?

23 A Yes, I have.

24 Q Would **it** be much of a problem for you to
25 outline for the jury the information that you have

1 considered?

2 A Not at all. Excuse me while I just look
3 at the list.

4 I've been supplied with deposition
5 transcripts of Dr. Lalli, Jan Schoch, his receptionist;
6 the wife of John, I believe her name was Jackie Porach
7 -- Janet Porach, excuse me. His daughters, Dawn and
8 Jacqueline. I've been supplied with office records from
9 Dr. Lalli, records from Fairview General Hospital, an
10 emergency room report. Further depositions have been
11 from Dr. Robert Botti, Dr. Carl Culley, Dr. Bruce
12 Janiak, Dr. Barry Effron, Dr. Robert Hoffman. I think
13 that sums up all the information that I've received.

14 Q Do you have all of the depositions in
15 front of you on your desk right here?

16 A Yes, I do. I should add that I've also
17 been supplied with the medical autopsy report.

18 Q And you have a copy of the EKG that was
19 done on John Porach?

20 A Yes, I do.

21 Q There's a deposition in the stack in
22 front of you, I believe of Mary Neary, did you also --

23 A Yes, I neglected to mention that, that is
24 Mr. Porach's mother-in-law. I was supplied with that
25 deposition as well.

1 Q Is the information that you have outlined
2 in your report and that you have on your desk, is that
3 the type of information that physicians that are called
4 upon to serve in the role as an expert witness
5 routinely rely upon in order to look at a situation and
6 provide honest and objective opinions concerning the
7 standard of care?

8 A Yes.

9 Q Doctor, before we talk in detail about
10 John, tell the jury when one refers to the term, "acute
11 illness," what does that term mean?

12 A It's an illness of abrupt onset, it's
13 something that occurs within a matter of hours or
14 several days. It is usually something that is new for
15 the patient, if the patient has been doing very well
16 and all of a sudden has specific symptoms or problems I
17 would refer to that as an acute illness.

18 Q And what is a chronic illness?

19 A Chronic illness is a long-standing
20 on-going illness. Example, diabetes, high blood
21 pressure.

22 Q Do you see patients in your practice
23 sometimes with acute problems, and sometimes with
24 chronic problems?

25 A Yes, I do.

1 Q When a patient contacts an office such as
2 yours or Dr. Lalli's and reports an acute problem for
3 the first time, do you have an opinion to a reasonable
4 degree of medical certainty as to what should be done?

5 A Yes.

6 Q What is your opinion?

7 A When an acute problem is reported I think
8 it raises another red flag as to the immediacy of
9 appropriate assessment of that patient.

10 Q Now, when you say the immediacy of
11 appropriate assessment, in non-medical terminology,
12 what does that mean?

13 A That means that if someone calls with a
14 new problem, I would want to see that patient or
15 understand a bit more as to what is going on right
16 away.

17 Q Dr. Selwyn, if a patient calls his
18 doctor's office and wants to be seen by the doctor that
19 day, and complains of aching in the chest and arms, and
20 nothing more than that; and the receptionist at the
21 doctor's office asks whether the patient has chest
22 pain, and the response is "no," does the standard of
23 care in your professional opinion demand that any
24 immediate action be taken with regard to that patient,
25 by the doctor's office?

1 MR. RISPO: Let the record reflect an
2 objection for the defense.

3 Q (By Mr. Mishkind) First do you have an
4 opinion?

5 A Yes.

6 Q And what is your opinion?

7 A Yes, I feel that immediate action should
8 be taken.

9 Q Would you tell the jury with that
10 hypothetical pattern, in terms of the patient calling
11 the doctor's office, indicating aching in the chest,
12 the response received, why it is that immediate action
13 needs to be taken?

14 A Well, any complaints referable to the
15 chest to me would indicate a list of potential problems
16 in my mind in a matter of priority. The first would be
17 the possibility of a heart attack. And if that were
18 the case I would want to evaluate that patient very
19 urgently.

20 MR. MISHKIND: Doctor, let's go off the
21 record for just one second.

22 MS. GANN: The time is 10:57, we're going
23 off record.

24 (Short recess.)

25 MS. GANN: We're back on the record, the

1 time is 11:00 a.m.

2 Q (By Mr. Mishkind) Dr. Selwyn, when a
3 patient is having an acute myocardial infarction or
4 heart attack, do they, based upon your training and
5 experience and knowledge in this area, describe the
6 pain associated with a heart attack in the same way?

7 A No, the description of symptoms of a
8 heart attack can be very variable, from chest pain in
9 the middle of the chest to abdominal discomfort, belly
10 pain, to an aching in the chest, to a heaviness in the
11 chest, to achiness or heaviness in the arms, an aching
12 in the jaw; it can be very variable. But all those
13 types of complaints, when discussed between a patient
14 and a physician, always bring up a red flag.

15 Q Does the standard of care in an
16 internist's office, that does not have a nurse taking
17 telephone questions, that does not have anyone other
18 than a receptionist who has worked in the office for
19 many years, but does not have medical training as such,
20 does the standard of care require that the secretary or
21 the receptionist receiving that information take
22 certain action when a patient calls with a complaint of
23 aching in the chest?

24 A Yes. I feel when a complaint is
25 discussed between a patient and a secretary or any

1 other medical personnel, such as the one that was
2 discussed that morning in October of 1994, I believe
3 the standard of care would dictate that immediate
4 communication with a physician be done.

5 Q And based upon a complaint, assuming that
6 the patient complains of aching in the chest and the
7 receptionist asks, "Do you have chest pain or a cardiac
8 history," and the patient says "no," is the standard of
9 care for the internist's office under those
10 circumstances complied with or met between that
11 colloquy between the receptionist and the patient?

12 A No.

13 Q Why?

14 A I feel, like I mentioned previously, the
15 descriptive terms by a patient when the patient is
16 having a heart attack can be highly variable. I think
17 when any description referable to the chest, whether it
18 be pain or aching, that needs to be communicated to the
19 physician who's caring for the patient.

20 Many patients when they have pain, if
21 asked, is it pain, they'll say, "no," I've experienced
22 this in my own practice innumerable times. A
23 discomfort in the chest or pressure in the chest in
24 many of us is not often reflected as true pain, it's a
25 very subjective answer.

1 So when there's any kind of reference
2 made to chest symptoms, I think that demands automatic
3 communication with a physician.

4 Q If you were in the position that Jan
5 Schoch -- I may be mispronouncing her name, I'm
6 certain, I apologize -- that's the receptionist was in,
7 not necessarily her, but if you were taking the call,
8 what questions would you expect to be asked in order to
9 determine whether or not the patient's complaints were
10 serious or not?

11 A If I were in her position I would have
12 tried to elicit a little bit more detail in this
13 history so I could indicate that to the physician.

14 If she was uncomfortable or not trained
1 to elicit those symptoms, she had two options, either
1 she would communicate that to another staff person in
1 the office who was trained or more able to assess the
1 situation, or she would go get the doctor out of a room
1 or off the phone and say, "What should I do?"

2 Q Let's talk about your review in this case
2 and the specifics on John Porach, tell the jury if you
2 would, based upon your review what your understanding
2 is as to the facts concerning Mr. Porach's condition
2 when he woke up on October 14, 1994.

2 A After review of the record, it's my

1 understanding that he awakened fairly early that
2 morning, I believe it was about 5:00, and he complained
3 to his wife of chest distress, cold sweat, tingling in
4 his arms and legs, diarrhea and just a generalized
5 feeling of not doing very well.

6 Q Do you recall in your review whether he
7 complained to his wife, according to your review, of
8 heartburn?

9 A Yes, he did say that he had some
10 heartburn,

11 Q Please tell the jury what your
12 understanding is, based upon your review as to what
13 medical treatment, if any, Mr. Porach sought that
14 morning or that day relative to the symptoms that he
15 woke up with.

16 A Well, he stayed home from work and was
17 waiting for Dr. Lalli's office to open. I believe he
18 called the receptionist between 9:30 and 10:30 a.m.
19 that morning and discussed his symptoms with her over
20 the phone.

21 At that time he was told that there were
22 no appointments and that his symptoms sounded like it
23 could be the flu, and that they would call him back
24 from the office a little bit later that day to see if
25 an appointment would be made available.

1 Q Based upon your training and experience,
2 in your opinion -- first, do you have an opinion
3 whether John Porach, the patient, acted reasonably in
4 calling his physician's office about his symptoms on
5 the morning of October 14, 1994?

6 A Yes, I do have.

7 MR. RISPO: Objection on the record.

8 Q (By Mr. Mishkind) First, do you have an
9 opinion?

10 A Yes.

11 Q And what is your opinion?

12 A I feel that he acted reasonably.

13 Q Explain to the jury why he acted
14 reasonably, in your opinion.

15 A I feel he awakened with symptoms that
16 were of an acute nature, certainly different from what
17 I could see that he's ever had in the past. And that
18 he was going and planning to call his doctor as soon as
19 the office opened. I feel that's a very reasonable
20 behavior for a patient.

21 Q Based upon your review, what is your
22 understanding from Jan, the receptionist, as to what
23 -- and perhaps Dr. Lalli -- what Mr. Porach conveyed to
24 them that morning, or what Mr. Porach conveyed to her
25 that morning during the conversation?

1 A It's my recollection that he told the
2 receptionist when he called that he had aching all
3 over, including his chest and shoulders, that he just
4 wasn't feeling well. I believe he was feeling
5 feverish, had diarrhea, had generalized aches.

6 I believe from Dr. Lalli's deposition
7 these specific symptoms were also communicated to him
8 as well.

9 Q According to your review, how long had
10 Mr. Porach been a patient of Dr. Lalli?

11 A Since April, 1991.

12 Q Did Dr. Lalli then have access to
13 Mr. Porach's prior medical records -- excuse me.
14 Before April of 1991, was Mr. Porach -- tell the jury
15 what your understanding is of Mr. Porach's medical
16 treatment before April of 1991. Poorly worded
17 question; but I think you know what I'm asking.

18 A It's my understanding that Dr. Lalli
19 acquired the practice of another internist, I believe
20 his name was Frank Constanza. And Dr. Constanza had
21 been Mr. Porach's attending physician prior to April of
22 1991. It's also my understanding that Dr. Lalli had
23 not only his own records but the prior records from Dr.
24 Constanza as well.

25 Q Based upon your review of those records,

1 had Mr. Porach ever called Dr. Lalli's office with
2 similar complaints to those that Jan, the receptionist,
3 and Dr. Lalli, the doctor, acknowledge were
4 communicated on the morning of October 14, **1994?**

5 A No, to my knowledge, these symptoms had
6 never been previously reported.

7 Q Based upon your review in this case, Dr.
8 Selwyn, what is your understanding as to what, if
9 anything was done, or said to Mr. Porach as a result of
10 this morning telephone call which occurred between **9:30**
11 and 10:30?

12 A As I mentioned, he was, the symptoms were
13 conveyed to the receptionist. He was told that it
14 sounded like the flu, no appointments were available,
15 they would get back to him later in the day and see if
16 one appointment would be made available for him.

17 Q Given the symptoms that we know, the very
18 least and putting aside any other information, but
19 given the symptoms that were communicated to Jan of
20 aching in the chest and arms, and not feeling well, and
21 with his medical history that would have been known to
22 the doctor's office, do you have an opinion to a
23 reasonable degree of medical certainty as to what the
24 differential diagnosis should have been for that
25 patient that morning?

1 A Yes.

2 Q First, before you tell me what your
3 opinion is, I should probably ask you what the term
4 "differential diagnosis" means.

5 A A differential diagnosis is a list of
6 diagnoses that enter a physician's mind when presented
7 with a complex of symptoms.

8 In other words, if a patient has specific
9 complaints, it should trigger a thought process in our
10 mind as to what the possible cause of those complaints
11 could be grouped into.

12 Q Who should be making the differential
13 diagnosis, is that the responsibility of Jan, the
14 receptionist?

15 A Absolutely not.

16 Q What should Jan, the receptionist, have
17 done in order to permit a differential diagnosis to be
18 made?

19 A She should have conveyed the symptoms to
20 Dr. Lalli, who thereby could have made a differential
21 diagnosis.

22 Q Dr. Selwyn, I want you to assume in this
23 case that Jan is going to testify that Mr. Porach
24 called and gave her certain symptoms and obviously we
25 don't know all of occurred in that conversation,

1 because we have to rely on -- my hesitation is that the
2 tape had gone off, I just wanted to make sure we
3 weren't off the record. We had to rely on what Jan
4 said during the conversation.

5 But based upon those symptoms that
6 morning, what requirement was there that in your
7 professional opinion Jan had to do in order to meet the
8 standard of care?

9 A She had to communicate these symptoms to
10 another staff person who was trained to evaluate them,
11 and if no other staff person was available then
12 immediate communication to Dr. Lalli would have met the
13 standard of care.

14 Q If I want you to assume that Jan's
15 testimony in this case will be that Mr. Porach called
16 for an appointment, he didn't call necessarily to talk
17 to the doctor. Under those circumstances, having
18 conveyed what Jan says he conveyed, was that okay for
19 her to just take the information, because he was only
20 calling for an appointment?

21 A No, many patients call and sometimes it's
22 difficult to really ferret out what it is that they're
23 asking for. Many people call and minimize their
24 symptoms or maximize their symptoms and ask for an
25 appointment. But that's not the responsibility of the

1 receptionist to determine. I think the responsibility
2 of a receptionist in this case was to take what
3 symptoms were offered and report those to Dr. Lalli so
4 that he could make the decision as to how urgent Mr.
5 Porach needed medical attention.

6 Q Assuming, Dr. Selwyn, that Dr. Lalli was
7 with patients when Mr. Porach called, and assuming
8 further again that he called to see Dr. Lalli not
9 specifically to talk to him. What in order to comply
10 with the standard of care should have been done based
11 upon the fact that Dr. Lalli was with patients at the
12 time of the telephone call?

13 A I think if it were in any office and as a
14 similar circumstance the standard of care would have
15 been to tell the patient to call 911 to get to the
16 nearest emergency room.

17 Q What if Dr. Lalli's practice was to check
18 with the receptionist in between patients and would it
19 have been acceptable had the information concerning
20 John Porach's symptoms have been brought to Dr. Lalli's
21 attention in between the next patient for Dr. Lalli to
22 have responded to?

23 A Well, that's a tough question. I think
24 that if in between the next patient would have been 10
25 to 15 minutes or perhaps 15 to 30 minutes, I think that

1 certainly would have been acceptable. I think if a
2 matter of hours went by and Dr. Lalli was not made
3 aware of these complaints, that would have not met the
4 standard of care.

5 Q Based upon your review in this case, did
6 Jan, the receptionist, ever bring the subject of
7 Mr. Porach's telephone call to Dr. Lalli's attention
8 before the events that occurred at 5:30 to quarter of
9 6:00 that evening?

10 A No, it's my recollection after reviewing
11 all the records that Dr. Lalli was never made aware of
12 the patient's symptoms until he was entered into the
13 office.

14 Q How then -- do you have an opinion,
15 Doctor, to a reasonable degree of medical certainty as
16 to whether Dr. Lalli deviated from accepted standards
17 of care in this case?

18 A Yes.

19 Q What is your opinion?

20 A I feel that he did deviate from accepted
21 standards of care.

22 MR. RISPO: Let the record reflect an
23 objection.

24 Q (By Mr. Mishkind) Tell the jury in what
25 respect you believe Dr. Lalli deviated from accepted

1 standards of care.

2 A The ultimate responsibility in a
3 physician's office lies with the physician. If the
4 patient's symptoms were brought to the attention of the
5 receptionist earlier that morning I feel it is
6 incumbent upon that physician to be responsible for how
7 the treatment is rendered.

8 If a patient calls and needs to be seen
9 immediately it has to be up to the physician to decide
10 how quickly that patient needs care. So I do feel that
11 all the things that transpired in Dr. Lalli's office
12 are under his jurisdiction and are his responsibility.

13 Therefore, the fact that treatment was
14 not rendered immediately or a decision to do something
15 was not done immediately, fell below the accepted
16 standards of care.

17 Q To your knowledge did Mr. Porach call to
18 be seen by Dr. Lalli or by the receptionist?

19 A Could you repeat that?

20 Q Did Mr. Porach call -- who did Mr. Porach
21 call to be seen by?

22 A He called to be seen by Dr. Lalli.

23 Q If the receptionist didn't provide the
24 information to Dr. Lalli so that he could determine
25 whether or not John needed to be seen, how then can you

1 hold Dr. Lalli responsible for information that wasn't
2 given to him?

3 A Information that comes into any medical
4 office needs to be documented and the responsibility,
5 again, lies with the physician ultimately.

6 So that even if Dr. Lalli did not have
7 the advantage of having the information at his hand
8 before John presented to the office, I feel it is still
9 his ultimate responsibility for all the problems that
10 come into any office, whether they're communicated with
11 him in a timely manner or not. The buck stops with
12 him, he needs to assume that responsibility, even if
13 the communication was not there.

14 Q What steps would have been reasonable for
15 Dr. Lalli to have taken that would have complied with
16 the standard of care, based upon that telephone call in
17 the morning of October 14, 1994?

18 MR. RISPO: Objection.

19 A I think reasonable steps would have been
20 to see the patient in his office immediately, or to
21 send the patient to the nearest emergency room.

22 Q (By Mr. Mishkind) Doctor, have you
23 reviewed the autopsy protocol and the autopsy verdict
24 in this case?

25 A Yes.

1 Q And I think you also said that you have
2 reviewed the report and the deposition of Dr. Robert
3 Hoffman, correct?

4 A That's correct.

5 Q Based upon your entire review of this
6 case, all of the information including the autopsy, the
7 autopsy protocol, the testimony of Dr. Hoffman, do **you**
8 have an opinion to a reasonable degree of medical
9 certainty as to whether or not John Porach suffered a
10 heart attack on October 14, 1994?

11 A Yes.

12 Q And what is your opinion?

13 A I feel that he suffered a heart attack on
14 that date.

15 Q Do you have an opinion based upon all of
16 the information that you have reviewed and considered
17 as to the approximate time that the heart attack
18 started on October 14, 1994?

19 A Yes.

20 Q And what is your opinion?

21 A I feel **it** began between 5:00 a.m. and
22 7:00 a.m. that morning.

23 Q And again, based upon your review of all
24 of the information in this case, do you have an opinion
25 as to how many heart attacks John Porach suffered that

1 day?

2 A Yes.

3 Q What is your opinion?

4 A I feel he suffered only one heart attack.

5 Q Doctor, if I understand your testimony
6 correct, if we assume that he suffered one heart attack
7 on the morning of October 14, 1994, what caused John
8 Porach then to collapse and to suffer a cardiac arrest
9 in his doctor's office between 5:30 and 5:45 p.m. that
10 same day?

11 A I think he had a fatal arrhythmia, which
12 is an electrical disturbance in the heart which created
13 an inability of the heart muscle to contract to propel
14 blood to all areas of the body. As a result of that
15 fatal electrical disturbance he collapsed and died.

16 Q Why didn't he suffer a fatal arrhythmia
17 earlier in the day in the morning when he had the heart
18 attack?

19 A An arrhythmia can usually occur at any
20 time, and it's hard to say why he specifically didn't
21 have a fatal arrhythmia earlier in the day. Because
22 they can occur in the beginning, or along that time
23 line as the heart attack evolves.

24 However, I would only -- I would only
25 estimate that with the timeline of the heart attack in

1 the morning between 5:00 and 7:00 and the resulting
2 problem that occurred approximately 10 to 12 hours
3 later, his heart began to fail as a result of
4 inadequate blood flow, that's called congestive heart
5 failure or dysfunction or malfunction of the pump
6 chamber.

7 As a result of that, it could also
8 perpetuate these fatal electrical disturbances.

9 So, looking back and thinking about the
10 course of events that occurred during that day, I feel
11 that the fatal arrhythmia occurred later in that day
12 because of the resulting failure of the ventricle to
13 pump adequately, which made it more irritable and
14 created these electrical discharges which eventually
15 lead to his death.

16 Q Doctor, are there steps -- and we'll talk
17 about them if there are -- steps that can be taken
18 after a patient suffers a heart attack, to minimize the
19 likelihood of a patient going on at a later point and
20 suffering this fatal electrical disturbance?

21 A Yes.

22 Q And generically or whatever, what are the
23 steps that are taken when a patient has a heart attack,
24 to minimize the likelihood that they're going to suffer
25 this fatal ventricular arrhythmia?

1 A The steps that need to be taken is
2 putting the patient in a coronary care unit where
3 adequate monitoring and treatment can be done
4 immediately.

5 Q When you refer to the ventricular
6 arrhythmia, I know from my basic understanding of the
7 heart that there's a normal pumping action. When the
8 heart goes into this ventricular arrhythmia, what's
9 going on with the pumping action of the heart?

10 A Normally our hearts when they beat 60 to
11 80 times per minute have a very nice smooth regular
12 forceful contraction. That contraction allows blood to
13 be ejected out of the heart every time it beats. When
14 the heart fibrillates it's a very uncoordinated
15 dysfunctional type of action, which means that the
16 actual power of the pump is drastically reduced.

17 Fibrillation is much more rapid than are
18 normal heart rates, it can occur up to 120 to 160 beats
19 per minute, perhaps a little more, perhaps a little
20 less. And when a heart is beating that rapidly and
21 each contraction is very ineffective, the ability for
22 blood to be ejected out of the heart is severally
23 comprised. When that occurs, problems can ensue;
24 i.e., death.

25 Q Let's talk about your understanding based

1 upon your detailed review of the information in this
2 case, as to what took place after the telephone call
3 between 9:30 and 10:30, and prior to John arriving in
4 the doctor's office that afternoon.

5 A It's my recollection that he stayed home
6 from work because he really didn't feel very well. I
7 believe his mother-in-law had called him as well
8 sometime in the morning, to see how he was and he
9 complained to her of discomfort in his chest and just
10 really feeling badly.

11 He spoke to his wife, who called him at
12 around noon time and he told her that he had spoken to
13 the receptionist at Dr. Lalli's office and that an
14 appointment wasn't available but that the office was
15 due to recontact him later that day.

16 Approximately 3:00 or 3:30 he was really
17 feeling worse, he was complaining of heaviness in his
18 arms, difficulty breathing, chest distress, and he
19 initiated the phone call to Dr. Lalli's office. He
20 apparently at that time was so distressed and his arms
21 felt so heavy and achy that his step-daughter had to
22 dial the phone for him and put the phone up to his ear
23 so he could speak to the receptionist. He was told to
24 drive to the office, to come in to be evaluated. And
25 the events subsequently followed as is documented in

1 the record.

2 MR. RISPO: Objection and move to strike
3 all the prior testimony.

4 Q (By Mr. Mishkind) Doctor, I want you to
5 assume in this case that Jan, the receptionist, is
6 going to testify that Mr. Porach called in the
7 afternoon between 3:15 and 3:30 and asked whether he
8 could come in to the office, and that his family was
9 concerned and could he come in and have an EKG.

10 I want you to assume that to be the
11 testimony that Jan will give at the time of the trial
12 in this matter.

13 If that is in fact what Jack or John
14 Porach said, would that, or should that have been of
15 any concern to an internist's office? And if so, why?

16 A Well, it's just another way of expressing
17 cardiac-related complaints. If a patient is not really
18 educated as to what or whatnot the symptoms of a heart
19 attack might be, he had apparently asked for a
20 cardiogram to be done or expressed the concern on the
21 part of his family for an electrocardiogram to be done,
22 to me that would raise another red flag in concern of a
23 serious problem that could be related to an acute heart
24 attack.

25 So if this were truly the testimony it

1 would be very disturbing that this wasn't related to
2 Dr. Lalli immediately.

3 Q Doctor, what is your understanding based
4 upon your review of Jack DeWitt, the step-daughter, her
5 testimony as to what she understood occurred during
6 that telephone conversation that she was present for?

7 MR. RISPO: Objection to her testimony.

8 A From reviewing her deposition, it's my
9 recollection that Mr. Porach did not -- or this is what
10 she heard -- did not express the desire to have an
11 electrocardiogram performed, he just expressed his
12 concern about what was going on and that he needed to
13 have an appointment with Dr. Lalli.

14 Q (By Mr. Mishkind) Doctor, I want you to
15 assume that the testimony in this case will be that the
16 step-daughter, Jacqueline was present when the
17 conversation occurred, and heard Jack talking to the
18 receptionist, and heard Jack say that he was having
19 chest pain, difficulty breathing, and difficulty moving
20 his arms.

21 I want you to assume that that will be
22 the testimony in this case, from Jacqueline.

23 MR. RISPO: Objectio to assumptions.

24 Q (By Mr. Mishkind) Assume that to be the
25 case for purposes of this question. If in fact that is

1 what she heard her stepfather say on the telephone with
2 Jan, the receptionist, on the other end talking to him.
3 Do you have an opinion to a reasonable degree of
4 medical certainty as to whether the standard of care
5 for an internist's office was violated in the afternoon
6 between 3:15 and 3:30 when this telephone call
7 occurred?

8 MR. RISPO: Same objection.

9 A Yes, I have an opinion.

10 Q (By Mr. Mishkind) Tell the jury what
11 your opinion is.

12 A I feel that the standard of care was
13 violated at this time.

14 Q And tell the jury the reason for that.

15 A Mr. Porach called again complaining of
16 chest pain at this juncture, shortness of breath as
17 well as a heaviness and an aching in his arms. That
18 should have created immediate concern, which should
19 have been communicated to Dr. Lalli to advise the
20 patient to go to the nearest emergency room.

21 Q Doctor, let's take the other side, let's
22 assume that the testimony --

23 MR. RISPO: Excuse me, motion to strike
24 all the prior opinion.

25 Q (By Mr. Mishkind) Let's assume that the

1 testimony of Jan is that that wasn't said, but that
2 Jack Porach called back, that she did not call Jack
3 before this time, even though she said she would get
4 back in touch with him. And further assume that it's
5 her testimony that Jack asked to have an EKG; and in
6 fact she said, "Come on in the office, we'll fit you in
7 and we'll do an EKG." And further assume that when he
8 arrived in the office after having him sit for 20 to 30
9 minutes, then hooked him up, did an EKG. And assume
10 further that she never talked to Dr. Lalli about Jack
11 coming in, or the need for doing the EKG.

12 If you assume those facts and disregard
13 the testimony of the daughter, do you have an opinion
14 to a reasonable degree of medical certainty as to
15 whether the standard of care was met or violated by Dr.
16 Lalli's office that afternoon?

17 A Yes, I do.

18 Q And what is your opinion?

19 A I feel that the standard of care was
20 violated that afternoon.

21 Q Tell the jury why.

22 A If indeed this occurred, I feel that,
23 again, the index of suspicion, the index of concern of
24 a very, very significant heart problem should have been
25 raised. And I feel immediate communication to Dr.

1 Lalli should have been done to properly treat Mr.
2 Porach.

3 Q Is an **EKG** on a patient that has an acute
4 illness done, absent a concern about the cardiac
5 condition?

6 A No.

7 Q What benefit does an EKG provide to a
8 doctor, unless the issue relates to the heart?

9 A None.

10 Q If a patient called into your office and
11 asked for an EKG and the patient did not have a known
12 cardiac history, and did not complain of any cardiac
13 symptom, what responsibility, if any, would your
14 office, or any internist's office have, relative to
15 that inquiry or request by the patient?

16 A I think it's incumbent upon the staff or
17 the physician to find out what it is that the patient's
18 concerned about that prompted the request for the
19 cardiogram.

20 If the patient has no symptoms and asks
21 for an EKG, something doesn't make sense, something is
22 left out. I really feel it's again another indicator
23 that something may be going on with the patient and
24 needs to be solicited to see what the problem is.

25 Q If John Porach had a heart attack that

1 started in the morning and went untreated during the
2 day, and continued to cause damage to him all day, is
3 there anything that modern medicine has available and
4 that was available back in 1994 that should have been
5 provided to Mr. Porach to treat his condition?

6 A Yes.

7 Q And what is that, doctor?

8 A Hospitalization in a coronary care unit
9 or intensive care unit depending on the hospital setup.
10 Administration of oxygen, medication to reduce pain,
11 performing electrocardiogram, oxygen determinations in
12 ones blood. Blood tests to see how serious the injury
13 may be. And if deemed necessary, intravenous
14 medication to decrease the possibility of life-
15 threatening rhythm disturbance, and intravenous
16 medications that can help dissolve the clot.

17 Subsequently if the patient is evaluated
18 to be having further problems, he could be taken to the
19 laboratory to have an angiogram to assess how
20 significant the blockage is, and in 1994 when this was
21 the case, if there was an acute blockage it could also
22 be removed with a balloon called an angioplasty.

23 So I think all those possibilities were
24 available in 1994 and should have been considered once
25 the treatment of Mr. Porach began in a hospital

1 setting.

2 Q Doctor, let me ask you this, in terms of
3 Mr. Porach's condition in the afternoon, if the patient
4 asked for an **EKG** and there was no history of prior
5 cardiac condition, and no indication at that time that
6 he was having chest pain or shortness of breath. In
7 order to comply with the standard of care, what steps
8 should have been taken?

9 A A detailed history and physical
10 examination of that patient. Again, knowing that Mr.
11 Porach had risk factors for coronary artery disease
12 would raise my level of concern if he were to ask for
13 an **EKG**, even in the absence of other symptoms. If the
14 patient with those risks factors asks for a cardiogram
15 then something again is not right. So I think
16 appropriate treatment would have been to evaluate him
17 very quickly with a detailed history, physical exam,
18 and any subsequent tests that would have helped clinch
19 the diagnosis.

20 Q Doctor, **you** have on the desk there a copy
21 of the actual **EKG** that we know was done by, that was
22 performed by Jan in the office before Dr. Lalli **was**
23 even advised the patient was in the office; is that
24 correct?

25 A Yes, I do.

1 Q And it I think you've got several of
2 them. Let me hand you one that I've marked as
3 Plaintiff's Exhibit 1. Mr. Rispo also has one there
4 that is Exhibit 2 for questioning for you. But is that
5 identical to what Mr. Rispo has provided?

6 A Yes.

7 Q Can you turn that around and show the
8 jury what that is? And is that the **EKG** that was
9 performed on Mr. Porach at approximately I think it was
10 about 5:39 p.m. in the doctor's office?

11 A Correct.

12 Q Does this **EKG** demonstrate findings
13 consistent with an acute myocardial infarction?

14 A Yes.

15 MR. RISPO: Objection to "consistent
16 **with**".

17 Q (By Mr. Mishkind) In looking at an **EKG**,
18 Doctor, what are you looking for on the **EKG** that tells
19 you or should tell any internist that there are things
20 going on that suggest that the patient has a heart
21 attack?

22 A You're looking for changes in two or more
23 leads, which means specific points either on the limbs
24 or the chest where the electrocardiogram is attached.
25 You're looking for changes in two sequential leads,

1 meaning two in a row or more, which would raise concern
2 for injury to an area of muscle in the heart.

3 Q Now, the squiggly lines, do they tell you
4 certain things that are helpful to you?

5 A Yes, they do.

6 Q There's a term that is used in terms of
7 ST segment elevations?

8 A Yes.

9 Q What does that mean?

10 A Well, when you look at an ERG you
11 basically see several things, you see the heart rate
12 and the rhythm, and you see whether there are changes
13 indicative of injury to the heart muscle.

14 S.T. segments are those areas of the
15 cardiogram which are right here, after the deep
16 deflection, you see a little area, (indicating).

17 Q Which leads are we referring to? There's
18 numbers on there.

19 A We're looking at leads V2, V3, and V4.
20 And in those leads the S.T. segments which are areas of
21 electricity generated by parts of the heart muscle, are
22 not normal.

23 Q Now when someone has a heart attack, is
24 there a classic type of finding that you expect to see
25 on an electrocardiogram?

1 A Yes.

2 Q Do all patients that are suffering a
3 heart attack have classic findings?

4 A No.

5 Q Would you explain to the jury why some do
6 and why some don't?

7 A Just as the symptoms of a heart attack
8 may not be classic, or those that are described in
9 medical textbooks, electrocardiogram evidence of a
10 heart attack is not always classic. Cardiograms change
11 as changes in blood flow and damage to the heart muscle
12 occur. This is a continuous time line, it depends on
13 how much damage, how much lack of flow, and how much
14 muscle is involved at a specific point in time that
15 will be reflected on the cardiogram.

16 So not every episode of damage to the
17 heart muscle is identical. Some may be classic as
18 depicted in textbooks, some may be very atypical or
19 unlike those depicted in textbooks. Depending on which
20 point in time you check the electrocardiogram.

21 Q This cardiogram obviously we know was
22 taken many hours after the testimony in this case
23 suggests that his heart attack started, correct?

24 A Yes.

25 Q The findings in V2, V3 and V4 you said

1 are not normal?

2 A That's correct.

3 Q And in order to consider findings --
4 strike that.

5 Do you need to have a certain abnormal
6 situation, if you will, or certain elevation in the
7 S.T. segment elevations before you consider using
8 certain medication to treat the heart attack?

9 A Yes.

10 Q And first, this EKG that we have, is it
11 what's known as a standard, or a half standard ERG?

12 A It's a half standard.

13 Q Can you show or explain to the jury the
14 difference between a standard and half standard and how
15 you know this is a half standard?

16 a Here is the standard, it's a rectangular
17 deflection at the beginning of every strip along the
18 cardiogram.

19 This is a button that is present on every
20 electrocardiogram machine, when you press the button it
21 should deflect the amount of electrical action
22 according to an international or national standard of
23 electrical deflection. In other words, a standard
24 depiction of electrical deflection between two points.
25 And that is standardized for all cardiogram machines.

In this specific EKG it is a half standard, which means that the actual deflection across the EKG are half of what they actually would be in a full standardized cardiogram.

Q Do you know why this particular EKG was on the half standard as opposed to the standard?

A No.

Q Would you measure the S.T. segment elevation and tell the jury in V2, V3, V4 what we are looking at?

A I'll have to put it down.

Q That's okay, take your time.

A What I'm doing is, I'm placing a straight line across what's called the baseline. And the baseline of the EKG is that line from which every deflection starts. S.T. segment elevation would be how many millimeters, or on this ERG how many boxes above the baseline that S.T. segment ends.

Normally the S.T. segment should be on the same level as the baseline, that's the normal heart. When there's injury and S.T. segments are elevated it will be above the baseline anywhere from a small amount to a large amount. In Lead V2 the S.T. segment elevation is just a little bit over one millimeter, maybe 1.1, 1.2 millimeters.

1 In Lead **V3** it is one millimeter. And in
2 Lead **V4** it is half a millimeter.

3 So if you extrapolate that to a full
4 standardized cardiogram, in Lead **V2** it would be
5 approximately **2.1**, **2.2**; in Lead **V3**, **2** millimeters; and
6 Lead **V4**, one millimeter.

7 Q Of what significance, if any, is that to
8 a physician that is looking at this electrocardiogram
9 and trying to determine what steps, if any, should be
10 taken?

11 A When you have S.T. segment elevations
12 that's a millimeter or greater in two sequential leads
13 -- meaning one after another -- that's indicative of a
14 heart attack or acute injury to the heart muscle.

15 Q If this **EKG**, Doctor, had been taken
16 rather than at 5:30, but had been taken sometime in the
17 morning of October **14, 1994**, prior to noon, do you have
18 an opinion to a reasonable degree of medical certainty
19 as to whether the **EKG** would have shown elevations
20 similar or dissimilar to the elevations that are shown
21 in this **EKG**?

22 A Yes, I have an opinion.

23 Q And what is your opinion?

24 A I feel that if they had been taken
25 earlier prior to noon it's very possible that the

1 elevations in the S.T. segments of the leads that I
2 mentioned would have been even higher.

3 Q When you say very possible, do you hold
4 an opinion to a reasonable degree of probability as to
5 whether the elevations would have been higher than what
6 they are shown at **5:39**?

7 A Yes. My feeling is that they would have
8 been higher than they were shown at **5:39**.

9 Q Why do you say that, Doctor?

10 A Because I feel that was the initial
11 beginning and acute onset of Mr. Porach's heart attack.
12 And very often in the evolutionary pattern of all
13 electrocardiograms, that try to correlate with what's
14 going on in the artery and the muscle, you get S.T.
15 segment elevation that's fairly significant in the
16 beginning, and it becomes less significant or less
17 traumatic as the heart attack evolves.

18 Q Doctor, I want you to assume that John
19 Porach had been advised to go to the emergency room or
20 call **911** in the morning of October **14, 1994**, after
21 talking with Jan, the receptionist. And further assume
22 that he would have been seen and evaluated in the
23 emergency room.

24 With this EKG that we have, or an ERG
25 that would have been taken at that time, based upon the

1 patient's symptoms in the morning and the EKG
2 information, do you have an opinion to a reasonable
3 degree of medical probability as to whether a heart
4 attack would have and should have been diagnosed?

5 A Yes, I do have an opinion.

6 Q And what is your opinion?

7 A I feel that a heart attack would have
8 been diagnosed.

9 Q And do you have an opinion to a
10 reasonable degree of medical probability as to what
11 treatment would have been reasonable and appropriate
12 had John Porach been in the hospital prior to 12 noon
13 with a diagnosed heart attack, based upon what you
14 believe to be the onset of his heart attack starting
15 sometime between 5:00 and 6:00 a.m.?

16 A Yes.

17 Q And what is your opinion?

18 A I feel that appropriate treatment such as
19 oxygen, morphine to reduce pain, intravenous medication
20 to reduce the possibility of electrical problems,
21 and/or intravenous medication to dissolve the clot
22 would have been administered in a very timely fashion,
23 certainly within the window of opportunity, and my
24 feeling is that the subsequent outcome would have been
25 dramatically changed.

1 Q When you say dramatically changed, what
2 do you mean by that?

3 A I feel he would be alive.

4 Q When you say the medication to dissolve
5 the clot, what is that? What are we talking about?

6 A It is a medication that has been given
7 for years, some of the medications have changed over
8 time as far as which specific clot dissolving
9 medication has been given. But most hospitals, even in
10 1994 have a protocol for the window of opportunity at
11 which time they can administer these medications
12 intravenously. And if done within this window, which
13 is usually within 12 hours, you can dissolve the clot
14 and allow reestablishing the blood flow to the area of
15 muscle, and drastically diminishing the amount of heart
16 muscle that's permanently damaged.

17 Q Doctor, if for whatever reason John
18 Porach was not told to go to the emergency room in the
19 morning, but based upon the conversation in the
20 afternoon he was advised to call 911 and was in an
21 emergency room at any time prior to experiencing the
22 ventricular fibrillation or the ventricular arrhythmia
23 we know he had at approximately 5:45. Do you have an
24 opinion to a reasonable degree of medical probability
25 as to what the outcome would have been in this case?

1 A Yes.

2 Q What is your opinion?

3 A I feel that the outcome would have been
4 the same as I mentioned had he been treated earlier, I
5 feel he would have survived this event.

6 Q What treatment would likely have been
7 given had he not been in the hospital in the morning,
8 but had been seen anywhere between 3:30 and prior to
9 sustaining the ventricular fibrillation at 5:30 to
10 5:45?

11 A I think probably the same treatment that
12 I alluded to earlier would have been given. At this
13 time the diagnosis being made once he hit the emergency
14 room or the coronary care unit, the same medication
15 could have been administered, it was sometime within
16 the window of opportunity to use the intravenous
17 medication to dissolve clots. He could have been given
18 medication to prevent this subsequently fatal
19 ventricular arrhythmia.

20 So along this time line whether it would
21 have been 5:00 in the morning or 3:00 in the afternoon
22 I still think there was that opportunity to save his
23 life.

24 Q Doctor, is there some controversy in the
25 medical literature into when the effectiveness of the

1 clot busters starts to diminish in terms of clearing
2 out the artery and reestablishing blood flow?

3 A Yes.

4 Q And what is your understanding as to what
5 is considered that window the opportunity in the
6 medical literature?

7 A I think the outside limit is 12 hours.
8 It's best if you can really get the patient there as
9 soon as possible. I mean within several hours would be
10 terrific,

11 If it's within time zero, meaning at the
12 onset of symptoms to within eight to 12 hours I think
13 you would find that to be the window. But there is a
14 lot of controversy as to whether or not you should use
15 intravenous medication after 12 hours.

16 Q Now, Dr. Botti, who is a cardiologist in
17 this case that will be testifying, I believe if he
18 testifies that the window is somewhere, and Dr. Effron
19 also, that the window is somewhere in the range of four
20 to six hours. As a cardiologist or as an emergency
21 room doctor, in terms of the efficacy or the successful
22 nature of that type of clot buster, would you defer to
23 them in terms of that window of the use of that
24 medication?

25 A Oh, yes, absolutely.

1 Q Now let's assume, Doctor, for purposes of
2 this question that he was outside the window to give
3 clot busters, in other words, you couldn't go in and
4 give him this medication to dissolve the clot. Is John
5 then under those circumstances likely to have died
6 anyway?

7 A No.

8 Q Why?

9 A Because other modalities could have been
10 used. And in 1994 it's my recollection that once a
11 cardiologist would have been consulted in a case
12 similar to this, the patient would have been taken to
13 the cardiac catheterization lab where an angiogram would
14 have been performed. And if indeed it discovered the
15 obstructive clot in his left anterior descending
16 artery, a balloon could have been used to alleviate the
17 obstructive clot.

18 Q Doctor, isn't it a fact that a lot of
19 people, perhaps the majority of people that suffer
20 sudden cardiac death secondary to coronary artery
21 disease, die outside of the hospital and within two
22 hours of the onset of their symptoms?

23 A Yes.

24 Q Why then, Doctor, are you of the opinion
25 that John Porach would have survived?

1 A Because I don't feel he had sudden
2 cardiac death; I feel he started to have problems at
3 5:00 a.m. to 7:00 a.m. that morning and I feel that
4 getting involved in treating these problems on a very
5 early time line would have allowed the outcome to be
6 survival.

7 Q I want you to assume, Doctor, that Dr.
8 Lalli and possibly one or more of his experts will
9 testify that John Porach is to blame for not adequately
10 describing his symptoms, for not insisting that he be
11 seen sooner, for not calling back sooner, and for not
12 driving to the hospital rather than driving to the
13 doctor's office when he did, and that those things
14 caused John Porach's death.

15 First assume that that testimony will be
16 coming from Dr. Lalli and some of his experts. Do you
17 agree or disagree with any of that?

18 A No, I strongly disagree.

19 Q Tell the jury why.

20 A I don't feel it's the responsibility of
21 the patient to make a diagnosis for his physician, I
22 think it's the responsibility of the physician to get
23 information and a history, and if able to examine the
24 patient; if not able, to make a tentative differential
25 diagnosis right away.

And I feel in that way proper care can be rendered.

I feel Mr. Porach acted as a responsible patient. He conveyed in his own mind what his problem was, to the staff, to his family, on numerous occasions. I feel on two occasions he explained his symptoms to the receptionist and these were not taken in a serious nature, and therefore when he came to the office at 5:30 he died.

I don't feel it was incumbent upon him to do anything more than he did, I feel he acted as any responsible patient that I would have, should have acted.

Q Doctor, with regard to this ventricular fibrillation or ventricular arrhythmia he had in the doctor's office, was there anything that Dr. Lalli, or for that matter you as an internist can do in the office to treat ventricular arrhythmia?

A Yes. The only thing we can do is pound the chest, which miraculously can occasionally terminate the rhythm. But that's the only thing. There's no other things that I can do in my office and I would assume that in Dr. Lalli's office that could have been done to treat this arrhythmia.

Q What is done when someone has a

1 ventricular arrhythmia in the hospital, to treat it?

2 A Depending on the extent of the
3 arrhythmia. And I mean by that some arrhythmias don't
4 cause immediate decompensation of the patient, others
5 cause definite dramatic decompensation of the patient.
6 Meaning, drop in blood pressure, rapidity of pulse,
7 difficulty in breathing.

8 In a hospital setting, intravenous
9 medications can be used. Or if it's deemed more
10 serious electro shock can be applied to the chest to
11 convert the rhythm to normal.

12 Q Doctor, I want you to assume that Dr.
13 Lalli will testify that John Porach didn't complain
14 when he arrived in the lobby of the doctor's office,
15 that he didn't have classic EKG findings, and in fact
16 Dr. Lalli marks down on the top of the EKG "remote" as
17 opposed to "acute" findings.

18 That he seemed to improve at times during
19 the day with regard to his symptoms. Don't those facts
20 suggest that the level of concern, Dr. Selwyn, the
21 level of concern necessary on Dr. Lalli's part,
22 concerning his patient, would be substantially less?

23 A No.

24 Q Why?

25 A It's quite usual for symptoms of a heart

1 attack to wax and wane throughout this period of time.
2 Patients don't experience, even if untreated, patients
3 don't experience pain continuously over hours. They
4 may, but then again they may not. So I don't feel that
5 the fact that Mr. Porach felt more comfortable while
6 sitting in Dr. Lalli's office would soften my concern
7 at all.

8 Q Do you have any explanation, based upon
9 your review in this case, as to why John Porach was not
10 contacted by Jan, the receptionist, at some time prior
11 to the call back, that John made himself?

12 A No.

13 Q Do you see any evidence based upon where
14 Dr. Lalli was, his patient load, if you will, the
15 number of patients he had, that there wasn't a time
16 period that would have been reasonable and appropriate
17 for the doctor's office to get back in touch with John?

18 A No, there should have been no reason that
19 couldn't have occurred.

20 Q Doctor, I want you to assume that with
21 reasonable and appropriate care in the morning, or with
22 reasonable and appropriate care in the afternoon, as
23 you've stated before, that John would have survived.

24 Based upon that, do you have an opinion
25 to a reasonable degree of medical certainty as to what

1 John Porach's life expectancy would have been?

2 MR. RISPO: Objection.

3 A If he had survived this event, knowing
4 now what I know about his prior history, and
5 considering survival from a heart attack, I think he
6 would be likely to have survived until is late 60s,
7 early 70s.

8 Q (By Mr. Mishkind) Let me ask you the
9 same question but reword it from a legal standpoint.

10 Do you have an opinion to a reasonable
11 degree of medical certainty as to John Porach's life
12 expectancy?

13 A Yes.

14 MR. RISPO: Objection.

15 Q (By Mr. Mishkind) And your opinion
16 please?

17 A I feel that he would have lived, he was
18 44 at the time; probably 68, 69, 70 or possibly a few
19 years thereafter.

20 Q Doctor, do you have an opinion to a
21 reasonable degree of medical certainty whether or not
22 John Porach's death was preventable and avoidable if he
23 received good and appropriate and standard medical care
24 and treatment on October 14, 1994?

25 A Yes.

1 Q And what is your opinion?

2 A I feel that he would have survived had he
3 been treated in a timely and appropriate manner.

4 MR. MISHKIND: Okay, I don't believe I
5 have any further questions.

6 Mr. Rispo, you may inquire.

7 MR. RISPO: I'm ready to proceed if the
8 reporter is ready.

9 MS. GANN: 12:00, we're going off the
10 record.

11 (Short Recess)

12 MS. GANN: The time is 12:05 p.m., we're
13 back on record.

14 EXAMINATION

15 BY MR. RISPO:

16 Q Doctor, I'd like to begin with a few
17 general propositions, hopefully we can reach an
18 agreement on them.

19 Are you there?

20 A Yes.

21 Q All the testimony you've given up to this
22 point in time is based upon hypothetical information
23 that has been provided to you. You were not there in
24 person, were you?

25 A No.

1 Q So, you have no personal knowledge of
2 what John Porach told his wife, or what he told his
3 step-daughter, or what he told to Jan Schoch?

4 A No.

5 Q And your opinions were based upon those
6 assumptions and that data that was provided to you?

7 A Yes.

8 Q And if the information provided to you
9 were radically different, would you change your
10 opinions?

11 A I'm not sure I understand the nature of
12 that question.

13 Q I'm asking you whether your opinion is
14 fixed regardless of the facts as presented to you.

15 MR. MISHKIND: Objection. *w/d*

16 A If I had other facts obviously it would
17 change some of my thought process in developing my
18 opinion, yes.

19 Q (By Mr. **Rispo**) Well, certainly. We do
20 agree on that then?

21 A Yes.

22 Q Can we also agree that the classic
23 symptoms for myocardial infarction or heart attack
24 includes chest pain, shortness of breath, and radiation
25 of pain to the jaw, to the back, to the neck or to the

1 left arm?

2 A Correct.

3 Q If I understand correctly, the patient
4 was 45, was 44 years of age on the date of death. Do I
5 assume correctly that the number of young men under the
6 age of 45 would have myocardial infarctions are
7 extremely low?

8 A I can't give you that statistic, because
9 I don't know.

10 Q Well, it's far less frequent than for men
11 in excess of 45 years, isn't it?

12 A Yes.

13 Q In fact one of the risk factors you
14 mentioned earlier was a male, age 45 or older?

15 A That's correct.

16 Q And John Porach at age 44 did not in fact
17 have that risk factor?

18 A That's correct.

19 Q And of course he was a male, and many
20 males over the age of 45 have heart attacks, but being
21 a male under the age of 45 is not a risk factor, is it?

22 A Not to my knowledge.

23 Q As a matter of fact, half of the
24 population is under age 45, is it not?

25 A Correct.

1 Q And the high percentage of the population
2 do smoke?

3 MR. MISHKIND: Objection. *o/r*

4 Q (By Mr. Rispo) Is that not correct?

5 A Correct.

6 Q And as a matter of fact a goodly number
7 of us men are a little overweight?

8 A Correct.

9 Q And many of us have a degree of increased
10 cholesterol over what it ought to be?

11 A Correct.

12 Q So, in that respect, John Porach was not
13 much different as far as his risk factors are
14 concerned, in a very large percentage of the population
15 under the age of 45?

16 A Correct.

17 Q And among those, that segment of the
18 population, the incidence of myocardial infarction is
19 pretty low, as compared with those in the higher risk
20 category?

21 A Well, again I can't quote you specific
22 statistics; but, yes, it would be lower.

23 Q Also is it not true that there's a fairly
24 low incident of patients with myocardial infarction who
25 have no symptoms at all?

1 A Well, in some instances **it** depends on how
2 you define low. In some instances you can have silent
3 infarction in 10 to 20 percent of cases.

4 Q So for 80 percent of the cases a patient
5 would have symptoms of a myocardial infarction?

6 A Yes.

7 Q For a patient under 45 years of age who
8 had a silent M.I. and in that 20 percent, **it** would be
9 pretty difficult to diagnosis, wouldn't **it**?

10 A Yes, usually it's diagnosed after the
11 fact,

12 Q And for a patient who did not have
13 classic symptoms, that is chest pain, shortness of
14 breath and radiating pain, **it** would be very difficult
15 to diagnose?

16 A No, I don't agree with that.

17 *17-22 out* Q If he had no risk factors and he had none
18 of the classic symptoms and he had a silent M.I. he
19 would be very difficult to diagnose, would he not?

20 MR. MISHKIND: Objection. *Sitz*

21 A Yes

22 Q (By Mr. Rispo) Now if I understood
23 correctly the testimony that you gave, your assumption
24 was that when John Porach had his heart attack **it** was
25 5:30 in the morning?

1 A Yes.

2 Q And he did not go to the emergency room
3 between 5:30 in the morning and 9:30?

4 A Correct.

5 Q And his wife did not call 9113

6 A Correct.

7 Q Is that because his symptoms were silent,
8 or atypical?

9 A I don't believe his symptoms were silent
10 at all, I feel his symptoms were not classic. I
11 wouldn't necessarily say atypical.

12 Q What do you mean by "not classic"?

13 A Well, you described what classic symptoms
14 are, chest pain, shortness of breath, sweating,
15 radiation to the neck or jaw. His symptoms were that
16 he had, he did describe to his wife that he had
17 achiness in his chest and he was short of breath.
18 That's not atypical as far as I'm concerned.

19 Q You're talking about what he told his
20 wife?

21 A That's correct.

22 Q Somewhere between 5:00 and 7:00 in the
23 morning.

24 A That's correct.

25 Q To distinguish from what he told the

1 receptionist, Jan Schoch?

2 A Correct.

3 Q As a matter of fact when he called Jan
4 Schoch, based on the information available to you, he
5 said nothing whatever about shortness of breath?

6 A That's correct.

7 Q He did say, however, that he had fever
8 and diarrhea?

9 A That's correct.

10 Q And in fact when Jan Schoch asked him
11 specifically and expressly whether he had chest pain,
12 he said no?

13 A That's correct.

14 a And when she asked him if he had any
15 history of heart disease, he denied it?

16 a Correct.

17 Q As a matter of fact, Doctor, with the
18 symptoms as provided to Jan Schoch, including fever and
19 diarrhea, the differential diagnosis includes a large
20 number of other possibilities, does it not?

21 A That's correct.

22 Q And do those possibilities include
23 infection or viral flu?

24 A Yes.

25 Q Do they include pneumonia?

1 A Yes.

2 Q And pulmonary embolus?

3 A Yes.

4 Q And gallbladder disease?

5 A Yes.

6 Q And acute cholecystitis?

7 A That's the same thing, yes.

8 Q In the absence of risk factors, which of
9 those differential diagnoses is the leading
10 differential or the leading diagnosis when a patient
11 does not complain of shortness of breath, radiating
12 pain to the jaw, neck or left arm, or chest pain?

13 MR. MISHKIND: Let me object to the
14 hypothetical. *OK*

15 But go ahead.

16 A You're asking me if Mr. Porach complained
17 of what he complained to the receptionist, what would
18 my differential be in the absence of risk factors?

19 Q (By Mr. Rispo) Yes.

20 A My primary differential would start with
21 heart disease.

22 Q It would still include viral flu?

23 A Yes.

24 Q And you would start with heart disease
25 because he described fever and diarrhea?

1 A No.

2 Q Is fever or diarrhea consistent with
3 heart disease?

4 A No.

5 Q In fact fever and diarrhea is more
6 consistent with flu or viral symptoms?

7 A Correct.

8 Q If he had said nothing whatever about
9 chest pain, or actually denied chest pain, are you
10 still saying that you would still suspect heart
11 disease, or heart attack?

12 MR. MISHKIND: ,Objection to the
13 hypothetical. *w/d*

14 A He said he didn't have chest pain, he
15 said he had aching all over including his chest. To me
16 that is chest pain.

17 Q (By Mr. Rispo) And you're a doctor?

18 A Yes.

19 Q Would you agree that a non-medically
20 trained receptionist does not have the same degree of
21 sophistication as a board certified specialist in
22 internal medicine?

23 A Correct.

24 Q Would you agree that she would be correct
25 in assuming that diarrhea and fever was consistent with

1 the flu?

2 A Could be consistent, yes.

3 Q Would you agree that when John Porach
4 told her that he did not have chest pain or history of
5 heart disease, that he actually misled her into the
6 assumption that he probably had the flu?

7 MR. MISHKIND: Objection. *O/R*

8 A No, I don't agree with that.

9 Q (By Mr. Rispo) Are you saying that any
10 patient who calls in with fever, diarrhea, generalized
11 achiness of the arms and the legs, the shoulders and
12 the chest, should be referred to the emergency room?

13 A I'm saying that any patient that calls
14 with those symptoms should be evaluated immediately,
15 whether it be in the emergency room or in the
16 physician's office, yes.

17 Q And if they can't get in to see the
18 physician within an hour, he should be sent to the
19 emergency room?

20 A Yes, I feel that's appropriate.

21 Q And if that were true then the emergency
22 room would the filled with people with pneumonia,
23 gallbladder disease and viral flu.

24 MR. MISHXIND: Objection. *O/R*

25 A You're asking a question as if the

1 patient couldn't be seen in his physician's office.
2 This is a problem that all of us as physicians face.
3 Chest pain is probably the leading cause of visits to
4 the emergency room, and probably non-cardiac chest pain
5 is a very high incident of reason for patients to go to
6 the emergency room.

7 However, the reason they go to the
8 emergency room or the reason they're attended to so
9 quickly is because the possibility of a cardiac event
10 has to be high on one's list. In his practice and I'm
11 trying to use Dr. Lalli's practice as an example,
12 because it is different from my practice because of the
13 size, I feel that a patient with any chest distress
14 needs to be evaluated immediately.

15 I also feel it's not the receptionist's
16 job to decide whether it is or is not the flu, that's
17 the responsibility of the physician.

18 Q (By Mr. Rispo) Well, what you're saying
19 then is that even though the patient described fever,
20 diarrhea, generalized malaise, and aching in the chest,
21 the shoulders and the legs, and even though he
22 expressly denied in answer to a question that he had
23 chest pain, and even though he had no history of
24 cardiac disease, that the receptionist in all these
25 cases should ignore his denials, assume that he has the

1 classic or at least suspicious symptoms of cardiac
2 disease and refer all those patients to an emergency
3 room unless he could be seen in the doctor's office
4 within an hour?

5 MR. MISHRIND: Objection. *w/d*

6 A I feel it's not the receptionist's
7 decision to make, I feel it's the receptionist's
8 responsibility to defer that judgment to the physician.

9 Q (By Mr. Rispo) So are you saying that in
10 all of those cases the receptionist should tell the
11 patient to hold on until she can talk to the doctor and
12 get the doctor on the line?

13 A Either that or get some information that
14 would render a decision on the doctor's part, yes.

15 Q Would you agree that diagnosis is a very
16 difficult thing, even for physicians?

17 A Yes.

18 Q That aching in the chest is a very
19 subjective complaint?

20 A Yes.

21 Q It can very easily be misinterpreted by
22 anyone else besides the doctor?

23 A Yes.

24 Q As an internist, a medical doctor, you
25 yourself could not even arrive at a reliable diagnosis

1 without seeing the patient?

2 A That's correct.

3 Q And if you did see the patient you would
4 have to take a detailed history and you would have to
5 take additional steps, including testing, EKGs, enzyme
6 studies before you could confirm a diagnosis of a
7 myocardial infarction?

8 A Correct.

9 Q And until you took those steps your
10 differential diagnosis would still include other
11 possibilities like the flu?

12 A Correct.

13 Q You would agree the standard of care
14 expected of a board certified cardiologist or an
15 internist is higher than the standard of care for a
16 nurse?

17 A Well, I don't agree with the term
18 "higher." There are different standards of care,
19 depending on the profession and the level of training.
20 But all standards of care are standards of care; I
21 can't use the word "higher."

22 They may be different as far as what
23 nursing care is, compared to physician care. But
24 "higher" is not a good term.

25 Q I'll use your term "different" then, you

1 would agree with my statement?

2 A Yes, they may reflect different levels of
3 skill needs and different levels of the type of
4 practice. Certainly nursing care standards are going
5 to be different than physician care standards.

6 Q Would you agree that the standard of care
7 for a non-medically trained receptionist is different
8 from that of a board certified cardiologist or
9 internist?

10 A I think the standard of care for a
11 non-medically certified or non-medically trained
12 receptionist, should be the same standard of care
13 that's operated or issued by her physician in charge.
14 I think it's a continuum, I can't agree with the fact
15 that there are two standards of care in an office,
16 because one's untrained and one's the doctor. The
17 doctor has ultimately responsibility for the standard
18 of care. And in that vein if the receptionist received
19 symptoms, it is her responsibility if the symptoms
20 appear worrisome and a red flag is raised, to convey
21 those immediately to the physician.

22 Q Doctor, I'm asking for a general
23 proposition. Would you agree with the statement that
24 the standard of care for a receptionist is different
25 from that which is imposed on a cardiologist who's

1 board certified or an internist who's board certified?

2 MR. MISHKIND: Let me object to the ^{O/R}
3 question, it's already been asked and answered. And
4 you're now asking it in a general manner, so for that
5 reason I object as well.

6 But go ahead, Doctor.

7 A The standard of care is different.

8 Q (By Mr. Rispo) Okay. As a matter of
9 fact there are no written or published standards of
10 care or protocol in your office for your nurses or your
11 receptionists, telling them what they should do or what
12 they should ask when a patient calls in?

13 A Well, I can't say that's entirely true.
14 In our office we do have some written standards and
15 protocols of care, not for many things, but we're
16 trying to initiate more of those for the staff.

17 Q As of the date that you wrote your
18 opinions, which was in June of '97, you didn't have any
19 written standards or protocol in your office for nurses
20 dealing with the diagnosis or treatment or triage of an
21 acute myocardial infarction?

22 A No, nothing written, that's correct.

23 Q There are no such standards anywhere that
24 are published and in writing for receptionists?

25 A Well, I'm not sure that there aren't any

1 anywhere. There's lots of health plans now that are
2 issuing to their physician members specific standards
3 of care and different diagnoses. But again I don't
4 know if that's germane to what we're saying.

5 I can't answer that by saying there are
6 no written standards of care. There may be some in
7 some instances.

8 Q Can you tell me where they are?

9 A I can tell you that our HMO plan in
10 Tucson has issued for our manual different specific
11 diagnostic problems and different things we should
12 consider when these arise, yes.

13 Q But you have none in your office, or at
14 least you didn't have any in your office as of the date
15 you wrote your report?

16 A That's correct.

17 Q Would you agree then that the medical
18 receptionist is not much any different from the general
19 population in terms of her understanding or
20 interpretation of a medical diagnosis?

21 MR. MISHKIND: Objection. O/R

22 A Well, in this case or in general? In
23 this case the receptionist had worked with Dr. Lalli or
24 in the field for 30 years. So there would have been
25 some experience that counts for some elevation of her

1 knowledge above the general public.

2 Q (By Mr. Rispo) Are you of the opinion
3 that the public is generally aware of the symptoms
4 shortness of breath, chest pain, as emergency
5 situations requiring urgent care?

6 A Again, a lot of my patients that I see
7 are aware that when they get chest pain or shortness of
8 breath, it's a serious problem.

9 Q Would you agree that Jan Schoch was not
10 present in John Porach's home at 5:30 in the morning?

11 A Correct.

12 Q And she was not present in his home at
13 7:00 or 9:30 in the morning?

14 A Correct.

15 Q She didn't see or have an opportunity to
16 examine John Porach or his appearance?

17 A Correct.

18 Q She had to rely entirely upon what she
19 was told?

20 A Correct.

21 Q And she certainly couldn't guess at his
22 complaints?

23 A No.

24 Q Would you agree that John Porach had the
25 opportunity between 5:30 and 7:00 in the morning to

1 call for emergency medical help, either 911, or go to
2 the emergency room?

3 A Sure, he did have the opportunity.

4 Q He did not take it?

5 A No, not to my knowledge.

6 Q And would you agree that his wife was
7 with him between 5:30 and 7:00 in the morning, and that
8 she had the opportunity to likewise call for emergency
9 care, and she did not?

10 A Correct.

11 Q If she had a general idea that chest pain
12 and shortness of breath were emergent problems
13 requiring urgent medical care, then she did not follow
14 a reasonable course of action?

15 MR. MISHXIND: Objection. *w/d*

16 A No, I can't agree with that. When I
17 mentioned before that many of my patients know that
18 chest pain and shortness of breath is a serious
19 problem, I can tell you that they rarely call 911,
20 unless there's a sudden collapse at the house.

21 What I mean by that is that they realize
22 it's an urgent problem, they're not to go to work and
23 to call your doctor. And as far as an immediate
24 request to go to the emergency room more often than not
25 that's not done. What they do do, they're alerted to

1 the fact that this is unusual and they call their
2 physician as soon as the doctor's office opens, or
3 whenever a timely fashion would be appropriate.

4 Q (By Mr. Rispo) If the doctor's office is
5 not open, they general go to the emergency room, don't
6 they?

7 A Or they make a call to the doctor who's
8 on call, to get some advice.

9 Q As a matter of fact, more patients go to
10 the emergency room in that condition than wait for the
11 doctor's office to open?

12 A I can't say that that's true. I have
13 many patients who have had chest distress for several
14 hours or aching and what have you that do wait to call
15 the doctor's office. But they are alerted to the fact
16 that it's something to inquire about.

17 Q Do you have any idea what percentage of
18 patients go to the emergency room, as opposed to
19 waiting for their doctor's office to open?

20 A None at all.

21 Q As a matter of fact, John Porach stayed
22 at home, even after he called the doctor's office, for
23 a period of 10 hours before he went to the doctor's
24 office, right?

25 A Correct.

1 Q At any time during that period of time he
2 could have gone to the emergency room on his own
3 initiative?

4 A Well, he could have, yes. But I still
5 feel that what should have been done was, his physician
6 should have seen him when he first called at 9:00
7 o'clock in the morning.

8 Q Once he found out that the doctor did not
9 have an open appointment on his schedule, he recognized
10 he couldn't get in to see the doctor for at least
11 another few hours during that morning, he had all the
12 more reason to consider other options, didn't he?

13 A No, I think what you're doing is putting
14 the responsibility on the patient. He did get advice,
15 whether it was correct or incorrect, from his
16 physician's office. That advice was, "It sounds like
17 the flu, we'll call you back."

18 I feel he acted responsibly, and I don't
19 necessarily feel that because he couldn't get in to see
20 Dr. Lalli he should have just taken his own initiative
21 and presented to an emergency room. He was calling his
22 doctor for an opinion, that's what most of the general
23 public does. If your doctor renders an opinion, they
24 generally stick by it.

25 Q Are you staying that John Porach was or

1 should have been satisfied with the opinion that he had
2 the flu?

3 A No, I think he shouldn't have been
4 satisfied with that opinion. I think he was concerned
5 enough to want to be seen by Dr. Lalli, but the nurse
6 told him stay home until we recontact you. Which could
7 have been 20 minutes; but for what it was, it never
8 happened, he had to call on his own.

9 Q He knew he was talking to a receptionist,
10 didn't he?

11 A Correct.

12 Q He knew he wasn't talking to the doctor?

13 A That's correct.

14 Q And he knew what his own condition was
15 better than anyone else?

16 A Yes.

17 Q And there was nothing stopping him from
18 going to the emergency room, was there?

19 A No.

20 Q He had a car available to him?

21 A Correct.

22 Q He had a valid driver's license?

23 A Correct.

24 Q And he could have called emergency
25 medical service, 911, if he felt so badly that he

1 couldn't drive?

2 A He could have done that, yes.

3 Q In fact he didn't call his wife until she
4 called him at 12:00 noon. Were you aware of that?

5 A yes, I am.

6 Q Were you aware of the fact that when he
7 spoke to his wife he told her that there had been no
8 change in his condition since she left him at 7:00 in
9 the morning?

10 A Well, I don't recall seeing "no change";
11 I just recall his discussion at about noon reflecting
12 that he still had the discomfort that he had described
13 before. If you want to say "no change" and it was the
14 same, that would be, I would agree with that.

15 Q In fact he didn't take any further steps
16 between 12:00 noon and 3:15 in the afternoon?

17 A No.

18 Q To suggest emergency medical care?

19 A No.

20 Q And his step-daughter was awake and
21 watching soap operas I believe between 12:00 and 3:00
22 p.m., he did not interrupt her television viewing to
23 tell her of his condition between 12:00 and 3:00?

24 A Not that I'm aware of.

25 Q As a matter of fact, you're aware of the

1 fact that he also spoke to his mother-in-law?

2 A Yes.

3 Q On at least one occasion during the
4 morning, and he didn't ask her to call for emergency
5 medical help?

6 A No.

7 Q And she didn't call for emergency medical
8 help?

9 A Correct.

10 Q Would you consider it reasonable for a
11 patient who had a heart attack between 5:00 and 7:00 in
12 the morning, to just sit around his house for a period
13 of nine hours without calling for emergency medical
14 help or calling anyone to take him to the emergency
15 room?

16 A Well, that question has to be qualified.
17 I would consider it reasonable in this case because the
18 symptoms waxed and waned over the day, which is not
19 unusual at all in a patient who sustained a heart
20 attack.

21 What you're asking is, if the patient had
22 a heart attack and became acutely decompensated at
23 home, meaning blood pressure drop, congestive failure,
24 rhythm disturbances, became less conscious. I mean
25 that's a reason to take him to the emergency room.

1 But it's well-known that many patients
2 who suffer the prelude to a heart attack or actually
3 incur a heart attack will have symptoms that may wax or
4 wane during one day, two day period of time. But if
5 they're in the right place it really reduces the
6 possibility of adverse outcomes.

7 Q Doctor, the type of patient you're
8 describing typically is the patient who has angina and
9 pain which is a precursor to a myocardial infarction,
10 is that right?

11 A Not necessarily. People with unstable
12 angina can have the symptoms I just mentioned and have
13 the course I just mentioned. But patients who do have
14 a heart attack can have a waxing and waning throughout
15 the day.

16 I'll refer you to people with silent
17 infarctions. If everyone had the same type of symptoms
18 we'd be much better fixing up silent infarcts. But
19 it's obvious to all of us that people live and survive
20 very well after sustaining a heart attack that they
21 never knew about. In that way I would draw in context
22 the type of symptoms that Mr. Porach had during the
23 day. These did wax and wane, which can certainly occur
24 after a heart attack, and they don't have to be of a
25 severe serious nature during the entire day, they can

1 come and they can go, they can wax and they can wane.

2 So I don't feel it was unreasonable,
3 knowing what I know about the case, reading what I've
4 read for Mr. Porach to act in the way that he acted.

5 Q Well, Doctor, you will concede of course
6 in this case John Porach had a heart attack between
7 5:00 and 7:00 in the morning, he already had a serious
8 cardiac event?

9 A That's correct.

10 Q And the waiting around that he did was
11 after he had the heart attack?

12 A Absolutely.

13 Q Now, are you saying that his was a silent
14 heart attack?

15 A No, not at all, I was just giving
16 reference to the fact that patients can have different
17 symptoms after a heart attack. An example, a patient
18 that has a silent heart attack has no symptoms after
19 the heart attack, or for that matter during the heart
20 attack.

21 A patient that has a myocardial
22 infarction with symptoms, as did Mr. Porach, can have
23 the symptoms wax and wane throughout the eight to 12
24 hours he remained at home.

25 Q Are you saying that his symptoms were not

1 the typical classic presentation?

2 A That's correct.

3 Q Are you saying that he had a right to
4 anticipate that the receptionist who hadn't seen him in
5 person, who's receiving his report of atypical
6 symptoms, non-classic symptoms, should have advised him
7 correctly to go to the emergency room?

8 A If she was unsure of these symptoms she
9 should have conveyed them immediately to Dr. Lalli, so
10 that a judgment could have been made by Dr. Lalli to
11 send Mr. Porach to the emergency room.

12 She was not trained to make the diagnosis
13 nor should she give or render a diagnosis. That is the
14 responsibility of his attending physician. So if those
15 symptoms were elicited, were discussed with the
16 receptionist, I feel one of two things should have
17 happened: If she had no idea that this could have
18 been, what it could have been, but that it was
19 disturbing because he had achiness in his chest,
20 immediate action should have taken place. The best
21 thing to do would be, "Dr. Lalli, what should I do?
22 What should he do? He's having discomfort in his
23 chest."

24 Q You're saying that a non-medically
25 trained receptionist who was not a physician, who was

1 not board certified, should be expected to anticipate a
2 diagnosis without even having been presented with the
3 classic symptoms?

4 A No I'm not saying that at all. I'm not
5 saying she should make a diagnosis, and I hope she
6 wouldn't. What I am saying, he has the responsibility
7 to put a receptionist in that position, with the
8 training that she has it is incumbent upon him to
9 accept the responsibility that if someone calls with an
10 achiness all over, including the chest, that patient be
11 taken care of immediately. And that means
12 communication of that problem be done immediately.

13 I'm not saying that she should make a
14 diagnosis or think in her mind what this could be. I'm
15 saying that this was a serious report of symptoms that
16 was new for this patient, something unusual. It should
17 have been given to Dr. Lalli to determine and decide
18 what should have been done.

19 Q Even though he was under age 45, right?

20 A Absolutely.

21 Q Even though he did not have a prior
22 diagnosis of coronary artery disease?

23 A Absolutely.

24 Q Even though he did not have a diagnosed
25 history of high blood pressure?

1 A Absolutely.

2 Q Even though he did not have diabetes?

3 A Correct.

4 Q And did not have any of the other risk
5 factors?

6 A That's not correct, he did have risk
7 factors which I mentioned before; he was a tobacco user
8 and had smoked at least a pack a day for well over 20
9 years, and he had elevated cholesterol. Those in my
10 mind are two very serious risk factors.

11 a About 50 percent of the population fit
12 that profile, don't they?

13 A That makes no difference. Yes, they do
14 but I don't understand how that makes a difference in
15 this case.

16 a Doctor, there are a few pieces of
17 objective evidence that we have in this case. One of
18 them is the EKG?

19 A That's correct.

20 Q And the other is the autopsy.

21 A Correct.

22 Q Let's discuss the EKG for a few minutes.
23 Referring to Exhibit 2. If you have it
24 before you. Exhibit 3, along with it.

25 A Yes, I do.

1 Q Are you ready?

2 A I am.

3 Q Would you agree that the **EKG** study,
4 Exhibit 2, is not diagnostic of an acute M.I.?

5 A Correct.

6 Q Would you agree that the **EKG** is equally
7 compatible with a remote myocardial infarction?

8 A It could be, yes.

9 Q Would you agree that the S.T. elevations
10 in Exhibit 2, that's John's EKG, are closer to those
11 elevations which are shown in Figure D of Exhibit 3?

12 MR. MISHXIND: Let me object, Ron. Just
13 for the record I'm going to object to the reference to
14 that exhibit for a number of reasons, including **it** is a
15 page out of a textbook, **it** is not an actual EKG strip.
16 **There** is information **contained** on that, we don't know
17 the leads or the area that that EKG is taken. I don't
18 have the opportunity to cross-examine the author of
19 that, and there is language in there that describes
20 certain patterns.

21 We know that John Porach did have an
22 acute M.I. **And** I've got about three or four other
23 reasons why I'm reserving them for the record in terms
24 of referencing that exhibit, and correlating **it** in this
25 particular case, I think it's --

1 Q (By Mr. Rispo) Doctor, we've talked
2 before, you recall, that I had an opportunity to take
3 your deposition back in September or October of last
4 year?

5 A Yes.

6 Q And we addressed the same figures in
7 Exhibit 3, and you agreed that the conditions, the S.T.
8 elevations in Exhibit 2 are closer to those in the
9 elevations which are shown in Figure D of Exhibit 3.

10 MR. MISHKIND: Let me indicate for the
11 record the fact he may or may not have agreed in the
12 discovery deposition, I'm preserving my objection in
13 terms of admission of that to the jury, and that's the
14 reason for my objection. But go ahead. O/A

15 Q (By Mr. Rispo) Did you in fact degree,
16 Doctor?

17 A Yes, at that time I did, correct.

18 Q Now, the figures in Exhibit 3
19 demonstrate, do they not, classic or typical
20 presentation of an M.I. at different stages over a
21 period of time?

22 A That's correct.

23 Q And Figure D of Exhibit 3 is the
24 presentation typically of an M.I. which occurred days
25 or weeks prior to the test?

1 A That's correct.

2 Q Therefore, the EKG in Exhibit 2, which
3 was done on John Porach, is typical of a patient who
4 had a remote M.I. days or weeks earlier?

5 MR. MISHRIND: Objection. *O/A*

6 A No, the other thing I would like to
7 mention here is that if you take into account -- which
8 I don't believe I may have done with the discovery
9 deposition -- is the fact that this is half
10 standardized; and if you look at the full standard ERG,
11 which we don't have the advantage to look at, the S.T.
12 segment elevations in Leads V2, V3 and V4 may have been
13 more typical of Figure C in Exhibit 3 than Figure D.
14 But I don't have that full standardized EKG to give me
15 that advantage.

16 Q (By Mr. Rispo) In response to that
17 question when I asked you last time, your answer on
18 page 20, line 4 was: "If I did not have the patient in
19 front of me, nor any history, and I just looked at this
20 cardiogram that came across my desk I could not tell
21 you whether this would be indicative of an acute injury
22 or antecedent infarct."

23 A That's correct.

24 Q As a matter of fact, if the patient
25 appeared in the emergency room with this EXG

1 presentation, without other complaints, they would not
2 even initiate thrombolytic therapy, would they?

3 MR. MISHKIND: Objection to the
4 hypothetical. *Sus*

5 A Without any other complaints?

6 Q (By Mr. Rispo) That's correct.

7 A Probably not.

8 Q And that's because they would assume that
9 it was too late for thrombolytic therapy to be
10 effective?

11 MR. MISHKIND: Objection.

12 A Correct.

13 Q (By Mr. Rispo) Let's go to the autopsy.
14 Do you have Dr. Hoffman's report handy?

15 A Yes, I do. I'll just take a minute to
16 find it.

17 Yes, I do.

18 Q I direct you, please, to the first page
19 of his report, the last sentence of the second
20 paragraph. Would you read that into the record?

21 A Is it the deposition you're asking, or
22 just his report?

23 Q His report.

24 A That's a two-page report.

25 Q That's correct.

1 A Could you repeat the question?

2 Q Could you read into the record here for
3 the benefit of the jury, the last sentence of the
4 second paragraph on the first page?

5 A "There is no evidence of fibrovascular
6 organization of the thrombus indicating that the lesion
7 could not be more than a few hours old."

8 Q And if you would skip to the next page,
9 the second last sentence beginning with the word "The
10 changes."

11 A "The changes in the myocardium and the
12 freshness of the arterial thrombus indicate that the
13 fatal lesions occurred just hours before death."

14 Q Now, if Dr. Hoffman meant one to three
15 hours when he said "just hours," or "more than a few
16 hours old," then his opinions as to the dating of the
17 myocardial infarction would be inconsistent with yours?

18 MR. MISHKIND: *OK* Objection, you are
19 mischaracterizing the testimony that has been given in
20 this case and I move to strike the question, the doctor
21 has already testified as to what his findings were and
22 his definition, so you're trying to subject or
23 interject to this jury testimony relative to the use of
24 "few," where Dr. Hoffman has already explained at great
25 detail what he meant in his discovery deposition, and I

1 think it's inappropriate to be cross-examining this
2 doctor based upon some other expert's testimony when
3 that testimony will speak for itself.

4 MR. RISPO: With all due respect, Howard,
5 the fact that Dr. Hoffman recanted his own opinion
6 doesn't mean I can't cross-exam based on his opinion.

7 MR. MISHKIND: He never recanted his
8 opinion, Mr. Rispo, the fact that you don't appreciate
9 when one says "a few" and then he explains what he
10 means by "a few," from a medical standpoint, doesn't
11 mean that he recanted, and still it's inappropriate to
12 try to cross-examine one expert based upon another
13 expert's testimony when Dr. Selwyn is not a pathologist
14 and is not going to be offering opinions relative to
15 pathologic interpretation.

16 MR. RISPO: Howard, you're going to miss
17 your plane, if you're not quiet.

18 MR. MISHKIND: I'm already well there,
19 based upon your questioning; well, missing it, I should
20 say.

21 Q (By Mr. Rispo) Doctor, in the same
22 manner that Mr. Mishkind has asked you to assume a few
23 things I'm going to ask you to assume a few things. I
24 want you to assume Dr. Hoffman, when he wrote his
25 report and before his deposition, meant "a few hours"

1 to mean two to three hours. And based upon that
2 assumption, would you agree that your conclusions as to
3 the date of the myocardial infarction, the timing of
4 the myocardial infarction would be inconsistent with
5 his statement that the M.I. occurred within a few hours
6 earlier.

7 MR. MISHKIND: ^{O/A} Objection to the question.

8 A Yes.

9 MR. MISHKIND: Move to strike.

10 Q (By Mr. Rispo) And your opinion would
11 also be inconsistent with a reading of the EKG
12 indicating that it was "remote" rather than "acute"?

13 MR. MISHKIND: Objection. ^{O/A}

14 A Could you repeat that question, please?

15 Q (By Mr. Rispo) Your opinion that the
16 myocardial infarction occurred between 5:00 and 7:00 in
17 the morning is also inconsistent with the EKG?

18 A No, I don't think.

19 Q The remote infarction, or at least the
20 classical presentation would be a remote infarction
21 days to weeks earlier.

22 A I don't necessarily agree with the fact
23 that if he had his heart attack at 5:00 in the morning
24 and the EKG was taken at 5:30 in the evening that this
25 EKG would be inconsistent with my timing of the event,

1 at all.

2 Q You used the word inconsistent. I'm
3 asking you typical classic interpretation.

4 MR. MISHKIND: Objection. *w/d*

5 A You were asking me typical classic
6 presentation from 5:00 in the morning to the time this
7 EKG was done?

8 Q (By Mr. Rispo) That's correct.

9 A If it was typical classic this EKG would
10 be different than the one that I see before me.

11 Q Thank you. Now if these objective tests
12 are both correct, that is the EKG and the autopsy as
13 reported in Dr. Hoffman's report, then the only logical
14 consistent explanation for what occurred would have to
15 be that there were two separate M.I.s, one quite a bit
16 earlier and one after the ERG was done.

17 MR. MISHKIND: Objection. *o/r*

18 A No, I don't feel that there were two
19 M.I.s, I don't agree with that.

20 Q (By Mr. Rispo) Is it possible there
21 could have been?

22 MR. MISHKIND: *See* Objection to "possible."

23 A No, I don't think it was possible.

24 Q (By Mr. Rispo) If there were, would you
25 agree that it would be, it would explain an EKG which

1 shows a remote infarction.

2 MR. MISHKIND: Objection.

3 A Remote can be weeks to days. So you're
4 asking if there was a second myocardial infarction
5 could it be consistent with this EKG? Not necessarily.

6 Q (By Mr. Rispo) Let's take that step by
7 step. Obviously if there was a second M.I. after that
8 the EKG was done it wouldn't reflect on the EKG?

9 A Correct.

10 Q But if there was a remote EKG -- I'm
11 sorry, remote M.I., it would be consistent with the
12 EKG?

13 A It could be consistent.

14 Q If he had a second heart attack as
15 indicated by the autopsy, within a few hours, then it
16 could have occurred after the EKG?

17 A Yes, if there had been a second heart
18 attack, which I don't think there was.

19 Q Let's go on then to a slightly different
20 subject.

21 If a patient had an M.I. in progress,
22 then you would typically find elevated S.T. waves
23 within a short period of time after the onset of
24 symptoms, is that correct?

25 A Typically, yes.

1 Q If he did have an M.I. in progress then
2 you would not expect to find elevation in the S.T.
3 waves if the EKG was taken a few hours after?

4 A Well, that's not necessarily true. I
5 think for the sake of this discussion that would be a
6 bit more complex than is necessary. People don't
7 necessarily have to have an M.I. to have S.T. segment
8 elevations.

9 Q If he did have a S.T. wave elevated,
10 however, in the exhibit that I gave to you, more
11 consistent with Figure B -- as in boy -- then you would
12 expect that he'd have an M.I. in progress within a few
13 hours earlier?

14 A Correct.

15 Q If he did not have a typical presentation
16 of an elevated S.T. wave, then it would be reasonable
17 to assume he did not have an M.I. within a few hours
18 before the test?

19 A No, that's incorrect. You don't
20 necessarily have to have S.T. segment elevation within
21 a four hours of the infarcts to clinch your diagnosis.
22 EKG's change, as well as symptoms change.

23 Classically you would expect to have the
24 evolutionary changes as seen in Exhibit 3. But there
25 are many instances other than classic which do not

1 necessarily correlate with S.T. segment elevation in
2 the time you're speaking of.

3 Q I understand not every case is the same.
4 But in the typical presentation you would expect to
5 find elevated S.T. waves if there were heart attack
6 earlier?

7 A In the classic presentation, yes.

8 Q Okay. And furthermore, if the patient
9 did have an M.I. in progress, you would typically
10 expect the patient would have symptoms such as chest
11 pain, shortness of breath, radiating pain at the time
12 of the myocardial infarction?

13 A Typically and classically, yes.

14 Q And if the patient had no symptoms and
15 this EKG presentation, it would be reasonable to assume
16 that he did not have a M.I. within the few hours before
17 the test?

18 MR. MISHKIND: *O/R* objection to the
19 hypothetical.

20 A No, that's incorrect.

21 As I mentioned, people can have silent
22 M.I.s and have reflected changes on cardiograms from
23 hours to years later and never have a symptom at all.

24 Q (By Mr. Rispo) If the typical patient
25 came through, did not have elevated S.T. waves, did not

1 have symptoms, you would not expect to find that he had
2 a myocardial infarction?

3 MR. MISHKIND: Objection. *Just*

4 A Typically and classically, that's
5 correct.

6 Q (By Mr. Rispo) He would not have had
7 symptoms of chest pain, radiating or shortness of
8 breath?

9 A I'm not sure I understand that.

10 Q If he did not have a myocardial
11 infarction within a few hours earlier, in other words,
12 if the presentation we see here in the EKG was put to
13 us and it was typical of, as you've said earlier, a
14 remote myocardial infarction, then you would not expect
15 to find symptoms of chest pain, radiating pain or
16 shortness of breath within a few hours before that?

17 MR. MISHKIND: Objection. *W/O*

18 A If you're talking typically or
19 classically, again I could agree with that. But I
20 don't feel everything is typical or classical, that's
21 what we're trained to understand.

22 Q (By Mr. Rispo) If my hypothesis is
23 correct, Doctor, and if he did have two M.I.s, one of
24 which was remote and the other followed the EKG study,
25 that is late that afternoon about 5:45 in Dr. Lalli's

1 office, then that second M.I. would be hard to predict,
2 wouldn't it?

3 A What do you mean, hard to predict?

4 Q You wouldn't be able to anticipate the
5 timing or the occurrence of a second M.I., would you?

6 A It would be very difficult.

7 Q Doctor, is there such a thing as a sudden
8 massive M.I.?

9 A Yes.

10 Q And as a matter of fact, there's a high
11 percentage of those in the general population?

12 A That's correct.

13 Q Of those that do have sudden massive
14 infarctions, death usually occurs within an hour?

15 A Within one to two hours.

16 Q Is **there** also a problem of denial **that** is
17 experienced in the general population?

18 A Yes.

19 MR. MISHKIND: Objection. *0/11*

20 A Yes.

21 *Q* (By Mr. Rispo) Especially among men?

22 A Yes.

23 Q And is the incidence of death among men
24 who are in denial, much greater than the general
25 population?

1 A I could not tell you that.

2 MR. RISPO: Thank you, Doctor, I have no
3 further questions.

4 MR. MISHKIND: Doctor, I have a few
5 questions, I want to clear up some things.

6 REEXMAINATION

7 BY MR. MISHKIND:

8 Q We've been talking about classic or
9 typical situations. Let's talk now and bring us back
10 and focus in on John Porach, okay?

11 A Yes.

12 Q Let's take a look at the EKG, and let's
13 just review a few basic propositions so that the record
14 is clear and unambiguous. That is a half standard **EKG**,
15 correct?

16 A Correct.

17 Q Are the findings in Leads 2, 3 and 4,
18 recognizing that that is a half standard EKG, are those
19 findings consistent with an acute myocardial
20 infarction?

21 A Yes.

22 Q When one says "consistent with acute
23 myocardial infarction," what does that mean to you as
24 an internist?

25 A That means it can reflect acute injury at

1 this point in time.

2 Q Now, Doctor, do you know the reason in
3 this case that an EKG was done on John Porach, if in
4 fact he did not complain of any chest discomfort or
5 anything that would have caused someone in Dr. Lalli's
6 office to have ordered the EKG?

7 MR. RISPO: Objection to the *Sust*
8 hypothetical, calls for speculation.

9 Q (By Mr. Mishkind) Doctor, let me
10 rephrase that. Based upon reasonable medical practice,
11 is there any justification for having done an EKG on a
12 patient without checking with the doctor if in fact the
13 patient did not have any complaints referable to a
14 cardiac condition?

15 A No.

16 Q Now, if that -- and is it in your opinion
17 within the standard of care for a doctor's office to
18 have performed an EKG on a patient, that does not have
19 any chest pain, without first checking with the
20 physician to determine the need or the necessity for
21 the EKG?

22 A It is below the standard of care to do
23 that in any office; if an EKG is done it should be done
24 with authorization from the physician?

25 MS. GANN: At 12:58 we're going off the

1 record.

2 (Short recess.)

3 MS. GANN: The time is 1:00 p.m., we're
4 back on record.

5 Q (By Mr. Mishkind) Doctor, before we went
6 off the record we talked about the appropriateness of
7 doing the EKG.

8 Let me ask you if this EKG that we are
9 looking at that you've already indicated is a half
10 standard is consistent with an acute myocardial
11 infarction; it's also consistent, is it not, with a
12 remote myocardial infarction?

13 A Yes, it is.

14 Q If you had a patient with this EKG in
15 hand and that patient gave a history of having achiness
16 in the chest and in the arms, and nothing more, and you
17 had this EKG which is consistent with an acute
18 myocardial infarction, what, if anything, would you
19 have done?

20 A Hospitalized him.

21 Q Why?

22 A Because this would have raised a
23 significant concern, my clinical suspicion index would
24 have become very high, coupling the symptoms with the
25 EKG changes. I would have placed the patient in the

1 hospital to reduce his risk of a fatal event.

2 And perhaps I'd have been able to use
3 some medications I alluded to before to reduce
4 discomfort and to dissolve his clot.

5 Q Doctor, it seems when Mr. Rispo was
6 questioning you, he kept on asking you whether this is
7 typical, whether this is classic findings, and you
8 indicated that his findings aren't typical or not
9 classic.

10 Under those circumstances, is that then a
11 justification for why John Porach's heart attack was
12 not timely diagnosed in this case?

13 A No.

14 Q Why?

15 A A physician is trained to be aware of,
16 especially with cardiac disease, very atypical
17 presentations. We're all aware that younger
18 populations now can have certainly sustain heart
19 attacks, albeit less than older population, especially
20 younger males. And everything in medicine, in addition
21 to things other than cardiac disease or cardiovascular
22 disease isn't always out of a textbook or classic. We
23 are trained to try to recognize symptoms that may
24 masquerade as other symptoms; diagnoses that may
25 masquerade as other diagnoses. If our index of

1 suspicion is not high, then we will not be able to
2 evaluate these problems on an emergent basis.

3 But I believe it is the standard of care
4 for any internist or primary care physician when they
5 hear a patient has chest distress, whether it be pain,
6 aching, heaviness, what have you, to evaluate that
7 patient appropriately in a very timely manner.

8 Q In a heart attack, you indicated the
9 symptoms can wax and wane.

10 A Yes.

11 Q And based upon what you see in John
12 Porach's case, especially in the morning, did his
13 symptoms wax and wane?

14 A Yes.

15 Q I want you to assume that the testimony
16 will be that after he had the initial symptoms that he
17 woke up with, that he felt better, that his wife and he
18 talked, he agreed that he was going to call the doctor
19 when the doctor's office opened; that he did call the
20 doctor's office, that he did then convey symptoms. And
21 let's just accept what Jan says in terms of the aching
22 in the chest.

23 Is that pattern, in terms of the onset of
24 the heart attack and then his symptoms getting better,
25 but yet still having aching in the chest. Is that

1 inconsistent with an acute myocardial infarction?

2 A Not at all.

3 Q Do you need to have the classic pattern
4 of EKG or the classic pattern of pain in order for a
5 doctor to have an index of suspicion that would cause
6 the patient to be seen?

7 A Not at all.

8 Q What would happen, Doctor, if you only
9 treated heart attacks that had classic presentations on
10 EKG or classic symptoms?

11 A We'd miss a lot of patients with
12 significant cardiac problems and miss a lot of patients
13 having heart attacks.

14 Q Can you give an example in this
15 particular situation as to who was missed?

16 A In this situation Mr. Porach's less than
17 classic presentation was missed and treated
18 inappropriately.

19 Q Now, Doctor, Mr. Rispo asked a lot of
20 questions about a medical receptionist. And quite
21 frankly, how can we hold the medical receptionist
22 responsible, or more importantly how can we hold Dr.
23 Lalli responsible if he didn't have classic symptoms, .
24 when she's not a licensed trained nurse or a doctor?

25 A I think it's the ultimate responsibility

1 of Dr. Lalli, who is a licensed trained doctor, to make
2 the decision that was instrumental in this case, or
3 could have been instrumental for appropriate treatment
4 in this case.

5 Q Did John Porach in your professional
6 opinion, based upon the description of symptoms, did he
7 know he was having a heart attack?

8 A No.

9 Q Do patient always know that they're
10 having a heart attack?

11 A No.

12 Q Do you feel that **it** was reasonable for
13 the patient, John Porach, to stay at home waiting to
14 hear back from the doctor's office, based upon the
15 statement made to him by the receptionist that **it**
16 sounded like **it** was flu?

17 A Yes.

18 Q And what is your opinion?

19 A My opinion is that **it** was very reasonable
20 behavior on his part.

21 Q Do **you** have an opinion whether or not **it**
22 was appropriate and reasonable for the receptionist to
23 have told John that **it** sounded like the **flu**?

24 A Yes.

25 Q What is your opinion?

1 A I feel that **it** was not in her ability to
2 make that diagnosis, nor render that opinion.

3 Q Now the fact that John Porach could have, or
4 his wife could have called the hospital, in the morning
5 or could have gone to the hospital, do you feel that
6 John Porach or his wife somehow are to blame for his
7 death?

8 A Not at all.

9 Q Why?

10 A I feel **it** is not the responsibility of
11 the patient to make a diagnosis, it's the
12 responsibility of the patient to convey whatever
13 subjective feelings he has at the time. It is the
14 responsibility of the trained physician to make
15 objective opinions, a differential diagnosis and render
16 **appropriate** care.

17 Q Now, doctor, Mr. Rispo brought up this
18 very interesting theory about two heart attacks. Do
19 **you** recall that just a moment ago?

20 A Yes.

21 Q **Assume** for purposes of this question that
22 John Porach had been directed to the emergency room,
23 either in the morning after that first telephone call,
24 or had been directed to the emergency room in the
25 afternoon when he called back with complaints of chest

1 pain and shortness of breath. And was either in the
2 hospital in the morning or in the hospital in the
3 afternoon.

4 And let's assume then that he had this
5 second heart attack that Mr. Rispo has opined. Do you
6 have an opinion to a reasonable degree of medical
7 probability as to whether or not John Porach would have
8 survived had he had that second heart attack?

9 A Yes.

10 Q And what is your opinion?

11 A I feel he would have survived because he
12 would have been treated appropriately on an earlier
13 basis.

14 Q Can you be more specific? Let's assume
15 he has a second heart attack, he's in the hospital;
16 what **would** have been done?

17 A Well, if the second heart attack created
18 any kind of electrical disturbances, congestive heart
19 failure, any problems with the patient or with the
20 electrocardiogram at the time, he would have been in a
21 facility that was capable to take care of the matter
22 right away and direct the proper care.

23 Q And specifically let's assume that he had
24 this heart attack, before he had the heart attack, what
25 kind of equipment would he be hooked up to, what kind

1 of interventions would be done across the Untied States
2 to treat such a patient?

3 A He would be placed in a bed in a
4 telemetry unit, which means a monitor of his heart rate
5 and rhythm is done instantaneously.

6 He would be given oxygen, he would be
7 given intravenous medication to ease his pain. He
8 would be given intravenous medication to protect
9 against lethal arrhythmias, these little electrical
10 disturbances. And then depending on the timing and on
11 the window of opportunity be given intravenous
12 medication to dissolve the clot.

13 He could have also have been seen by a
14 consulting cardiologist, been taken to the Cath lab,
15 have an angiogram, and perhaps if necessary a
16 subsequent angioplasty.

17 Q Doctor, that diagram that Mr. Rispo had
18 you looking at in terms of various sections. Are **you**
19 able to tell what lead those various lines are coming
20 there?

21 A No, I cannot.

22 Q Are you able to tell what type of heart
23 attack is related in those leads?

24 A Absolutely not.

25 Q Does that provide you with any

1 information that is reliable in order to correlate or
2 to compare the EKG on John Porach to say whether or not
3 he had an acute or remote heart attack?

4 A No, **it** does not.

5 Q If Dr. Lalli did that EKG that we have in
6 front of you, and Dr. Lalli had knowledge about
7 symptoms that John Porach had during the day of aching
8 in the chest; even if Dr. Lalli knew that John Porach
9 wanted to come in to have an **EKG** done and he has this
10 EKG done, is **it** reasonable and appropriate for the
11 doctor to exclude on what he writes on the top, the
12 possibility that this was an acute myocardial
13 infarction?

14 A Yes, if he had in a timely fashion
15 assessed the history and done a physical exam and taken
16 **the** EKG and **done the appropriate measures he could have**
17 been able to include or exclude an acute insult.

18 Q And again based upon that EKG, with
19 symptomatology that you know from only Jan the
20 receptionist, should this patient have been treated for
21 an acute myocardial infarction?

22 A Absolutely.

23 Q And with good and reasonable care and
24 treatment, Doctor, do you have an opinion to a
25 reasonable degree of medical certainty as to whether or

1 not John Porach would have survived the heart attack
2 that he suffered on October 14, 1994?

3 A Yes, I have an opinion.

4 Q And your opinion?

5 A He would have survived the heart attack.

6 Q And would be alive today?

7 A Yes

8 MR. MISHKIND: No further questions.

9 Thank you.

10 MR. RISPO: Doctor, just a few more.

11 REEXAMINATION

12 BY MR. RISPO:

13 Q Would you define for us what is meant by
14 the term "diagnostic symptoms"?

15 A Diagnostic symptoms are symptoms related
16 by a patient to help you render a diagnosis.

17 Q Would you distinguish between the term
18 "diagnostic" and the definition of the term
19 "consistent"?

20 A Diagnostic is more fixed and fast. In
21 other words, diagnostic to me would mean this is sine
22 qua non. In medicine we mean that is the answer to
23 give us the diagnosis if something is diagnostic of.

24 "Consistent" could mean this, or could
25 mean something else.

1 Q So, in other words symptoms which are
2 diagnostic are symptoms which clearly lead to the
3 correct diagnosis?

4 A Yes.

5 Q And symptoms which are merely consistent
6 may or may not lead to the correct diagnosis?

7 A Correct.

8 Q Are you fully aware of the fact here that
9 we're talking about a receptionist and that Ms. Jan
10 Schoch is not medically trained?

11 A I'm aware that she's a receptionist, I'm
12 aware she's not medically trained.

13 Q Are you asking us to believe that you
14 expect a receptionist to be totally familiar with not
15 only the diagnostic symptoms but also the
16 non-diagnostic symptoms which are atypical, or not
17 classical, sufficient that she would recognize a
18 medical emergency when the patient wasn't even in the
19 same room with her?

20 A No, I'm not saying that at all. What I
21 am saying is that Dr. Lalli hires an employee it is
22 incumbent upon him to have a standard of care in his
23 office to allow that employee who takes descriptive
24 symptoms on the telephone to dispense with those
25 symptoms properly.

1 I'm not saying she should make a
2 diagnosis, I'm not saying she should be able to
3 discriminate typical from atypical. What I am saying
4 is it is his ultimate responsibility that she
5 communicate and convey those symptoms that Mr. Porach
6 stated, quickly and appropriately.

7 Q Doctor, we're in agreement, aren't we,
8 that Dr. Lalli himself did not have the opportunity to
9 make any judgment call on this case?

10 A He did not have the opportunity, that's
11 correct.

12 Q He did not physically examine the patient
13 before he had his fatal event?

14 A That's correct.

15 Q He did not have a chance to take his
16 history?

17 A That's correct.

18 Q If this patient had been conveyed to an
19 emergency room at some earlier time and if he was
20 outside the window of four to six hours, he would not
21 have had the thrombolytic therapy, would he?

22 A Well, depends on what the window of four
23 to six hours, there's a lot of debate on that. But for
24 purposes of this discussion, if you're using four to
25 six hours as a window, then I would say he would be

1 outside the window of opportunity.

2 Q You earlier testified that you would
3 defer to Drs, Botti and Effron on that issue, wouldn't
4 you?

5 A That's correct.

6 Q And that the window is four to six hours?

7 A Well, again I think that's a window that
8 could be disputed by different authorities. I don't
9 consider myself an authority, I just consider myself
10 well versed on what the authorities write.

11 Q Dr. Botti is the cardiologist?

12 A That's correct.

13 Q Dr. Effron, David Effron is an emergency
14 room specialist?

15 A Correct.

16 Q You know they've previously testified
17 that the window is four to six hours?

18 A I didn't recall that, but if they did,
19 I'll take that as correct.

20 Q Okay. So that if the patient didn't
21 arrive in the emergency room until after 12:00 noon,
22 under their interpretations of the therapeutic window
23 he would not have had thrombolytic therapy?

24 MR. MISHKIND: Let me just object, it's
25 outside the scope of my redirect. But go ahead.

1 A Yes.

2 Q (By Mr. Rispo) Even if he were treated
3 traditionally in the more classical fashion, he still
4 could have had complications?

5 MR. MISHKIND: Objection to the "could
6 still," and it's also outside the scope of my redirect
7 examination. Go ahead.

8 A Can you ask the question again, please?

9 Q (By Mr. Rispo) He could still have had
10 the complications of a myocardial infarct in the
11 emergency room.

12 MR. MISHKIND: Objection, again to
13 "could."

14 A Yes.

15 Q (By Mr. Rispo) And those complications
16 would include pulmonary edema?

17 MR. MISHKIND: Show a continuing line of
18 objection. But go ahead.

19 A Yes.

20 Q (By Mr. Rispo) Congenital heart failure;
21 congestive heart failure, excuse me.

22 A Correct.

23 Q Correct?

24 Q Cardiogenetic shock?

25 A Correct.

1 Q Multiple system failure?

2 A Correct.

3 Q Renal failure?

4 A Correct.

5 Q Each one of those could have been fatal?

6 A Correct.

7 MR. RISPO: Thank you, doctor, I have no
8 further questions.

9 MR. MISHKIND: I just have a couple
10 questions.

11 REEXAMINATION

12 BY MR. MISHKIND:

13 Q Mr. Rispo has said though you "could."

14 Do you have an opinion to a reasonable
15 degree of medical probability as to whether it's likely
16 that John Porach would have suffered any of those
17 complications had he been timely and appropriately
18 treated in this case?

19 A Yes, I have an opinion.

20 Q And your opinion?

21 A I feel it would have been very unlikely
22 that he would have suffered these complications.

23 Q Mr. Rispo said to you that Dr. Lalli
24 didn't have an opportunity to take a history, didn't
25 have an opportunity to examine.

1 Do you have an opinion as to first why he
2 didn't have an opportunity to take a history and to
3 examine?

4 A Yes ,

5 Q What's your opinion?

6 A The symptoms were not communicated to
7 him.

8 Q And why were they not communicated to
9 him?

10 A I can't tell you that; I'm still baffled
11 as to why those symptoms weren't communicated from the
12 receptionist to Dr. Lalli immediately.

13 Q Does that excuse Dr. Lalli, in your
14 professional opinion, from the fact that his patient
15 who called and wanted to be seen by him, called twice,
16 responded to the receptionist when she told him to
17 drive into the office, winds up dying in his office?

18 A No, that doesn't excuse him at all.

19 MR. MISHKIND: I have no further
20 questions,

21 MR. RISPO: Doctor, one question.

22 REEXAMINATION

23 BY MR. RISPO:

24 Q Isn't it a fact that the reason that the
25 symptoms were not reported to Dr. Lalli is because

1 symptoms were not diagnostic of myocardial infarction?

2 A No, I feel the symptoms were not reported
3 because there was no standard of protocol, whether it
4 be verbal or otherwise that was inacted in Dr. Lalli's
5 office. For that reason there was a gap in
6 communication, and things weren't told to the doctor
7 when they should have been.

8 Q You also agree, however, the symptoms
9 were not diagnostic?

10 MR. MISHKIND: Objection. o/a

11 A That's correct.

12 MR. RISPO: Thank you.

13 MR. MISHKIND: Nothing further.

14 MS. GANN: The time is 1:18 p.m. and this
15 is the end of the video tape.

16 MR. MISHKIND: Doctor, will you agree to
17 waive the requirement of reading and signing the
18 deposition?

19 THE WITNESS: Yes.

20 MR. MISHKIND: And will you waive the
21 requirement of viewing the video tape?

22 THE WITNESS: Yes.

23 MR. MISHKIND: Mr. Rispo, will *you* agree
24 to waive the requirement of reading and signing and
25 viewing as well?

1 MR. RISPO: Yes, of course.

2 MR. MISHXIND: I think we've covered all
3 other stipulations in terms of filing.

4 MR. RISPO: Yes.

5 ** ** **

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATE OF ARIZONA)
 2) ss.
 3 COUNTY OF PIMA)

4 BE IT KNOWN that I, Leber Schlesinger, took
 5 the foregoing deposition pursuant to notice at the time
 6 and place stated in the caption hereto; that I was then
 7 and there a Notary Public in and for the County of
 8 Pima, State of Arizona; that by virtue thereof, I was
 9 authorized to administer an oath; that the witness,
 10 JEFFREY SELWYN, M.D., before testifying was duly sworn
 11 to testify the truth, the whole truth and nothing but
 12 the truth; that the testimony of said witness was
 13 reduced to writing under my direction and the foregoing
 14 132 pages contain a full, true and correct
 15 transcription of my notes of said deposition.

16 I FURTHER CERTIFY that I am not of counsel nor
 17 attorney for either or any of the parties to said
 18 action or otherwise interested in the event thereof,
 19 and that I am not related to either or any of the
 20 parties to said cause.

21 IN WITNESS WHEREOF, I have hereunto subscribed
 22 my name and affixed my seal of office this 26th day of
 23 March, 1998.

Leber Schlesinger

Notary Public

24 My commission expires:
 25 November 27, 2000

