

1 The State of Ohio,)
2 County of Cuyahoga.) SS:
3 IN THE COURT OF COMMON PLEAS
4 Martha Green,)
5 Plaintiff,) Doc. 399
6 vs.) Case No.
7 Hillcrest Hospital,) 133,825
8 et al.,)
9 Defendants.)

10 - - -
11 Deposition of MELVIN SHAFRON, M.D., a
12 witness herein, called by the Plaintiff
13 for examination under the statute, and
14 taken before Luanne Protz, a Notary Public
15 within and for the State of Ohio, pursuant
16 to the agreement of counsel, and pursuant
17 to the further stipulations of counsel
18 herein contained, on Tuesday, the 12th day
19 of July, 1989 at 5:00 P.M., at the offices
20 of Melvin Shafron, 26900 Cedar Road, City
21 of Beachwood, County of Cuyahoga and the
22 State of Ohio.

23 - - -
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

On behalf of the Plaintiff:

Gaines & Stern, by

John Scharon, Esq.

On behalf of the Defendants

Drs. Zelch and Heller, and

Chagrin Valley Radiology:

Reminger & Reminger, by

Marc Groedel, Esq.

On behalf of the Defendant

Sidney Stone, M.D.:

Weston, Hurd, Fallon

& Sullivan, by

John Jeffers, Esq.

- - -

P R O C E E D I N G S

- - -

MELVIN SHAFRON, M.D., being
of lawful age. having been first
duly sworn according to law,
deposes and says as follows:

- - -

(At this time Plaintiff's
Exhibits 1, 2, 3 and 4 were marked
for identification purposes.)

- - -

EXAMINATION OF MELVIN SHAFRON, M.D.
Y MR. SCHARON:

Dr. Shafron, we are here on this
Martha Green matter. You have been named
as an expert for the Defendants in the
case, or at least the Defendant, Dr.

We have been favored with two reports
from you, a report dated March 17, 1988,
and a report dated March 7, 1989.

I have also had a chance to look at
our file, and we have marked your CV, two
letters from Marc Groedel to you, and also
our handwritten notes.

1 Other than the binder⁵ of medical
2 record⁵ that you have next to you, is that
3 your complete file in the matter?

4 A As far as I know, yes.

5 Q You don't have any notes that you
6 made contemporaneous with reviewing the
7 records in the case?

8 A I may have. If they are not in my
9 folder, I've tossed them out. Obviously,
10 when I go through records, I use a legal
11 pad.

12 Q All right.

13 A Sometimes I keep them; sometime I
14 throw them out.

15 Q They are not in that file; so, you
16 think you threw them out?

17 A Probably.

18 Q I expect you would have tried to
19 incorporate all of those notes into your
20 reports.

21 A Sure.

22 Q Was your first involvement in this
23 case following Marc's letter of
24 January 21, 1988, introducing himself to
25 you as representing the interests of Dr.

1 James Zelch and Dr. James Heller?

2 A I am sure of that.

3 Q All right. There is a handwritten
4 note at the top of that, that says,
5 "Discussed 1/27/88."

6 A Uh-huh.

7 Q Does that correspond with the dis-
8 cussion that you had by telephone?

9 A Probably, sure.

10 Q Are there any notes or memoranda of
11 that phone conversation with Marc?

12 A I doubt it. I doubt it.

13 Q Do you remember anything about that
14 conversation?

15 A No. I may have just called him and
16 said that I looked at the records, or
17 something like that; but I don't recall.

18 Q Do you know when you actually got
19 them?

20 A No.

21 Q Page three of that letter indicates
22 that enclosed with the letter are
23 records from Hillcrest Hospital, Cleveland
24 Metro General.

25 A And, indeed, they may have come with

1 the records.

2 Q Okay.

3 A Yes.

4 Q Also it says that there was a summary
5 of the above-noted hospital records. Do
6 you have that?

7 A In my records, no.

8 Q A summary?

9 MR. GROEDEL: It may be in
10 there.

11 THE WITNESS: A discharge
12 summary? I am not sure what you
13 mean.

14 MR. GROEDEL: Let me see if it
15 is in here.

16 John, what it is, is a summary
17 prepared by my office which I
18 usually put in the black binders.
19 Those records have been in my office.
20 It is quite possible that: I just
21 pulled the summary out, and it is
22 in my file. I will provide you with
23 a copy.

24 MR. SCHARON: Fine.

25

1 BY MR. SCHARON:

2 Q Marc asked you to advise him, please,

3 if you needed to see any of the X-rays.

4 Have you seen the X-rays?

5 A No.

6 Q You are a neurosurgeon?

7 A Correct.

8 Q How do you define the practice of
9 neurosurgery?

10 A A neurosurgeon is a doctor who deals
11 with the diagnosis and treatment,
12 surgically sometimes and medically other
13 times, of a variety of disorders which can
14 affect the brain, the skull, the bony
15 spine, the spinal cord, the peripheral
16 nerves.

17 Q What did you consider your assignment
18 in this case to be?

19 A To render an opinion about what this
20 patient's problems were, and what they are
21 now.

22 Q Did you understand that you were to
23 comment about whether the standards of
24 care were met at Hillcrest Hospital?

25 A I am not sure I was asked to say

1 that, to be honest with you.

2 Q Is that your intention?

3 A I don't know,

4 Q Do you understand that to be your
5 task in this case?

6 A I have no idea. I really don't.

7 Q Well --

8 MR. GROEDEL: Well, to clarify
9 it, my letter to Dr. Shafron just
10 asked for opinions on what is known
11 as the proximate cause issue.

12 MR. SCHARON: Okay.-

13 MR. GROEDEL: I can tell you
14 that, on direct examination, I am
15 not going to ask him any questions
16 on the issue of standard of care.

17 MR. SCHARON: All right. That
18 is all I am getting at.

19 BY MR. SCHARON:

20 Q This isn't a trick question.

21 A All right.

22 Q What I am trying to find out here is
23 what we can expect you to be testifying
24 to. Frankly, that wasn't clear to me from
25 reading the reports.

1 A Okay.

2 Q So, you are not anticipating
3 rendering any opinions about whether the
4 radiologic studies at Hillcrest Hospital
5 were appropriately read, for instance.

6 A I don't think so.

7 Q Okay.

8 A I don't believe so.

9 Q You haven't been asked to do that and
10 haven't done it yet?

11 A No.

12 Q You don't plan on doing it in the
13 future?

14 A I don't know what Mr. Groedel is
15 going to ask me to do three months down
16 the road; I really don't.

17 Q Similarly, as regards the orthopedic
18 care at Hillcrest Hospital, you were not
19 asked to render opinions about whether the
20 orthopedic care met acceptable standards?

21 A To the best of my knowledge, I was
22 not; that is right.

23 Q Your CV indicates that you are on the
24 staff at Hillcrest Hospital.

25 A Indeed, I am.

1 Q Do you know Dr. Stone?

2 A Yes, sure.

3 Q Do you know why he has, apparently,
4 left the practice of orthopedic surgery?

5 MR. JEFFERS: Objection.

6 THE WITNESS: I haven't the
7
8
9

10 A No.

11 ? Do you know Dr. James Zelch?

12 A Yes.

13 Q Have *you* worked with him?

14 A Yes.

15 ? For how long have you had a pro-
16 fessional relationship with Drs. Stone and
17 Zelch?

18 A I don't: think I ever had a profes-
19 sional relationship. I know who Dr. Stone
20 is. I used to work at Euclid General
21 where he was more active,

22 Q All right.

23 A Then, he was at Hillcrest. I don't
24 think *he* was ever a very active practi-
25 tioner in orthopdsics at Hillcrest, but ■

1 would see him in the hall and say hello to
2 him. I know who he is, obviously.

3 Dr. Zelch is a radiologist. So, I
4 knew Dr. Zelch from the time he came to
5 Hillcrest, and I don't know what year that
6 was. I think he left two or three years
7 ago.

8 Q All right.

9 A But I certainly knew him at the time
10 he was there.

11 Q Would you have worked with him?

12 A If I had --

13 Q Would you have consulted with him?

14 A If I had a patient who had problems,
15 if he were going to be performing an
16 examination on one of my patients, I
17 would, obviously, look at the X-rays with
18 him after they were done and discuss them
19 with him. Among the things I usually do,
20 if I have a patient who needs a special
21 diagnostic study, if he were the one who
22 was going to --

23 MR. JEFFERS: Let me insert
24 an objection, because I find this
25 to be irrelevant as to what he

1 would have done as a neurosurgeon
2 with a radiologist.

3 THE WITNESS: I would talk
4 to the radiologist and tell him
5 what my problems are, and what
6 I am interested in, and if he
7 were to perform a myelogram or
8 any other test for me, I would
9 consult with him. I did that
10 all the time with any other
11 doctor who was going to be doing
12 studies on my patients.

13 BY MR. SCHARON:

14 Q How much expert work do you do on
15 medical malpractice cases?

16 A I probably see at least three or four
17 a year, I guess, at least.

18 Q Okay,

19 A At least.

20 Q Over what period of time has that
21 been true?

22 A Ten years; I don't know exactly.

23 Q All right.

24 A Maybe more, maybe less; I don't know.

25 Q In addition to medical malpractice

1 cases, are you also involved in medical/
2 legal reviews?

3 A I certainly am. Yes, I see at least
4 one patient a week for that.

5 Q One patient --

6 A A week.

7 Q A week?

8 A Yes.

9 Q Is that pretty much throughout the
10 year?

11 A As an average, yes. Maybe one week I
12 would go without seeing anybody, and maybe
13 see two patients the following week.

14 Q Would that have also been true over
15 the period of ten years?

16 A Yes, I suppose, sure, sure; ten
17 years, maybe less. I don't know.

18 Q Do you have any feeling about the
19 percentage of time that you spend on
20 medical/legal issues, whether it be mal-
21 practice or otherwise, in any given time
22 period that you want to choose?

23 A It is a small amount of my practice.

24 Q Can you give me a percentage?

25 A Five percent.

1 Q The rest of your time is spent --
2 A Seeing patients and operating; seeing
3 patients here in the office, seeing
4 patients at the hospital, and operating.
5 Q On the medical malpractice cases that
6 you have worked on, have you ever worked
7 on the plaintiff's side?
8 A Indeed, yes.
9 Q How many times?
10 A I think at least two in the past
11 year.
12 Q All right. Two of the three or four
13 times in the past year, then, you have
14 worked on medical malpractice cases for
15 the plaintiff?
16 A No. I would say in the past year and
17 a half, or half a dozen cases.
18 MR. JEFFERS: What?
19 THE WITNESS: Two for the
20 plaintiff in, perhaps, the last
21 half dozen cases that I have seen,
22 or eight cases, something like that.
23 BY MR. SCHARON:
24 Q All right.
25 A Maybe ten, I don't know. I really

1 don't count or keep track.

2 Q Are there any other plaintiff's
3 cases?

4 A I am not sure what you mean.

5 Q Have you been an expert for the
6 plaintiff in any malpractice cases, other
7 than those two that you have mentioned out
8 of the last half dozen that you have
9 worked on?

10 A Yes, yes, yes.

11 Q How many in addition to those two?

12 A Several, maybe two or three more.

13 Q Have you ever been involved in a
14 medical/legal case involving a lumbo-
15 sacral facet dislocation?

16 A I had never seen one before, not like
17 this. I had never seen one like this
18 before.

19 Q That is neither in your practice nor
20 in consultation?

21 A That is correct.

22 Q Or in a medical/legal setting?

23 A Correct.

24 Q Would you agree that lumbosacral
25 facet dislocation is the appropriate term

1 to apply to what Martha Green sustained?

2 A I believe that is appropriate.

3 Q All right.

4 A Locked facets, bilaterally locked
5 facets, sure.

6 Q Some of the literature, I guess,
7 talks about a pure dislocation. Is that a
8 term that you are familiar with?

9 A You can have a dislocation with
10 locked facets, You can have a disloca-
11 tion without locked facets. The fact that
12 you say "dislocation" doesn't necessarily
13 mean that you have locked facets-

14 Q Right.

15 A So that you would have to qualify
16 what you say, With locked facets, there
17 is no question that you would have a dis-
18 location. Conversely, with a dislocation,
19 you may or may not have locked facets.

20 Q Okay.

21 A So, they don't mean one and the same
22 thing.

23 Q She had locked facets?

24 A As far as I know, yes.

25 Q Do I understand accurately, or, may-

1 be, am I paraphrasing your opinion in the
2 case that, even if Martha Green had been
3 operated on, had her dislocation reduced
4 and had her lumbosacral spine fused in the
5 immediate posttraumatic period, that her
6 outcome would not have been significantly
7 different than what you saw or what you
8 found in this patient?

9 A Oh, she had no neurological deficit.
10 I would assume, as with every patient I
11 have ever seen who has had an accident of
12 any kind, there's back pain. In other
13 words, I think she would have back pain
14 regardless of how soon or how late this
15 were done, if the reduction could have
16 been performed. In all honestly, I don't
17 know whether or not the reduction could
18 have been performed at all under any cir-
19 cumstances.

20 Q All right.

21 A It certainly couldn't have been done
22 on day one, two, or three, or four, but on
23 day ten or 12, I don't know if it would
24 have been technically feasible to do. I
25 don't know.

1 Q So that I understand what we might
2 expect you to testify to, you don't expect
3 to testify that it wouldn't have been
4 technically feasible to do that surgery.

5 A I haven't the vaguest idea whether it
6 could have been.

7 Q Okay.

8 A I don't know.

9 Q Are you saying that the severity of
10 her back pain would have been the same?

11 A I am not --

12 Q Whether she had had that- early
13 surgery or not?

14 A I am not sure how severe her back
15 pain is. I can't tell. She has some
16 every day. She says it gets worse with
17 activity, as I noted, it gets worse when
18 she is on her hands and knees. She may
19 have no pain without much activity. So,
20 she has pain. The pain varies in inten-
21 sity, and I can't say whether or not she
22 would have had pain or not had pain had
23 the reduction been able to be done. I
24 don't know.

25 MR. JEFFERS: If what?

1 THE WITNESS: If the reduction
2 had been able to be accomplished;
3 in other words, I don't know whether
4 she would have pain. I am just
5 saying, in my own experience with
6 patients like her whom I have seen,
7 the pain never quits. So, you know,
8 had she been able to have had a
9 reduction of the dislocation and
10 locked facets accomplished, I don't
11 know whether the pain would have
12 been any different,

13 BY MR. SCHARON:

14 Q Okay.

15 A Pain is -- now, that is something
16 that you can't measure in a patient.

17 Q If the reduction and fusion had been
18 able to have been accomplished in -- I
19 refer to it as the immediate posttraumatic
20 period. Let's Just put a general time
21 limit on it, and let's say four to six
22 weeks after the trauma. Given that time
23 frame, do you think that she would have
24 had the same postural deformity that she
25 has now?

1 A I didn't notice any. So, I really
2 can't comment on it.

3 Q As far as you know, she doesn't: have
4 any postural deformity?

5 A I didn't notice any. I didn't notice
6 it when I examined her. Therefore, I
7 would not comment about it. I really
8 can't tell.

9 Q How about any gait problems; did you
10 note any of those?

11 A She had no gait problems when I
12 examined her.

13 Q From reviewing the records, you are
14 aware that she had her first attempt at
15 reduction and fusion of this dislocation
16 at Metro General in August of 1986.

17 A Correct, sir.

18 Q She went to nonunion.

19 A She had a nonunion fusion, yes.

20 Q Now, in that attempt at reduction and
21 fusion, they were not able to reduce the
22 dislocation, and they attempted a fusion ,
23 in situ.

24 A Sure.

25 Q All right. Do you have any opinion

1 as to whether the inability to reduce the
2 dislocation made it necessary or resulted
3 in the nonunion?

4 A Oh, I have no idea. Fusions do fail.

5 Q All right,

6 A No matter where they are done or how
7 they are done, they can fail, and I can't
8 tell you why the fusion did not take. I
9 don't know.

10 Q Would you agree that, having to
11 attempt to fuse this patient in the dis-
12 located state; that is, with the facet
13 displaced, and dislocated and locked, it
14 would have increased the amount of stress
15 on the fusion components?

16 A I can't answer that. I don't know.

17 Q Are you saying, Doctor, that --
18 strike that. Let me start that over.

19 Are you rendering any opinion about
20 whether conservative management of this
21 lumbosacral facet dislocation was appro-
22 priate?

23 MR. JEFFERS: Would you read
24 that back?

25 THE WITNESS: I don't under-

1 stand your question.

2 BY MR. SCHARON:

3 Q Yes. Let me try and put it a
4 different way.

5 We know the lumbosacral facet dis-
6 location was not diagnosed at Hillcrest,
7 correct?

8 A Well, I don't know. There are some
9 allusion5 to the fact that one of the
10 people taking care of her noticed it, but
11 I can't answer that.

12 ? Well, she didn't have surgery at
13 Hillcrest Hospital.

14 A Yes.

15 Q She was in the hospital for about
16 five or six weeks.

17 A At Hillcrest?

18 Q At Hillcrest.

19 A Okay, yes.

20 Q Do you know what the standards of
21 care call for in the treatment of a
22 diagnosed lumbosacral facet dislocation in
23 that posttraumatic period?

24 MR. JEFFERS: Object.

25 THE WITNESS: I would make

1 certain presumptions that this is an
2 unstable situation; that is, totally
3 locked facets is an unstable situ-
4 ation. One would make an attempt,
5 probably, to reduce it if you could,
6 and if one could or couldn't, then,
7 if you couldn't, you would have to
8 fuse the patient in the unreduced
9 position with her spondylolisthesis,
10 and ~~if~~ you can reduce it, then, you
11 would fuse her in the reduced pos-
12 ition. But, she would probably
13 have to be fused under any circum-
14 stances. The mere fact that she
15 has a spondylolisthesis doesn't
16 necessarily portend that a fusion
17 will fail. There are lots of
18 patients with spondylolisthesis
19 for many reasons who have fusions.

20 BY MR. SCHARON:

21 Q Oh, I understand that.

22 A Sometimes the fusions fail, and some-
23 times they don't fail.

24 Q At this point, this question was not
25 aimed at --

1 A I am sorry.

2 Q -- at the question of failure of the
3 fusion.

4 A Yes.

5 Q My question is really whether a
6 person who has a diagnosed lumbosacral
7 facet dislocation needs to have, as you
8 have said, that unstable spine reduced.

9 A I presume so.

10 Q And fused.

11 A I have never seen this.

12 MR. JEFFERS: I will put an
13 objection in here. Before he
14 finished his question, you
15 commenced your answer.

16 THE WITNESS: I am sorry.

17 MR. JEFFERS: I want an objec-
18 tion between the two.

19 THE WITNESS: I have never
20 **seen** this injury before, and I
21 have never, obviously, therefore,
22 treated a patient with an injury
23 like this of the low back, Ob-
24 viously, if I were going to be
25 involved in something like this,

1 I would either have to consult a
2 knowledgeable orthopedist who may
3 have seen something like this, or
4 go to the literature. But, I
5 have never personally seen any-
6 thing like this, nor have I been
7 consulted for purposes of this.

8 BY MR. SCWARON:

9 Q Have you gone to the literature in
10 preparing for your testimony in this case?

11 A No, no, no.

12 Q What about the lumbosacral facet dis-
13 location makes it, to use your term,
14 unstable? What does unstable mean in this
15 context?

16 A In order for a total dislocation of
17 locked facets to occur, the restraining
18 ligaments of the facet joint⁵ have to be
19 destroyed, and the position of the facet
20 joints with relation to each other is
21 totally reversed, and one is latched over
22 the other, or one is locked under the
23 other, whatever you want to call it. One
24 would presume that the patient has a non-
25 stable spine in a situation like that.

1 Q Ooes instability carry with it the
2 potential, then, for the vertebral
3 elements, one on top of the other, to
4 move?

5 A Sure.

6 Q In the area of L-5/S-1, we don't have
7 a spinal cord within the spinal canal, but
8 we do have the nerve roots of the cauda
9 equina.

10 A Correct.

11 Q Now, as I understand it, in the cauda
12 equina region, L-5/S-1, you've got
13 relatively more room for the nerve fiber5
14 than you do in some of the upper levels of
15 the vertebral column where you have spinal
16 cord within the column.

17 A That is a reasonable conclusion or
18 assessment, sure.

19 Q Well, understanding that you haven't
20 seen this condition before, does it seem
21 reasonable to you that you could get a
22 lumbosacral facet dislocation at L-5/S-1
23 without producing many, if any, neuro-
24 logical abnormalities?

25 A Well, it is obvious that it happened

1 with her, sure, and I have seen spondylo-
2 listhesis for many reasons, not
3 necessarily traumatic, in which patients
4 have no neurological deficits, sure. She
5 has no observable neurological deficit,
6 except for what I mentioned in my report.

7 Q Would you agree that the decision as
8 to whether or not to attempt a reduction
9 and fusion of a patient with an L-5/S-1
10 facet dislocation in the immediate post-op
11 period --

12 A You are not saying that right.

13 Q -- in the immediate posttraumatic
14 period, is one that you make based on
15 instability, irrespective of the presence
16 or absence of neurological abnormalities?

17 MR. JEFFERS: Objection.

18 THE WITNESS: When you say
19 "immediate," what are you defining
20 "immediate" as?

21 BY MR. SCHARON:

22 Q We talked about it before.

23 A Four to six weeks?

24 Q Anytime from the date of the accident
25 or the date of the trauma until four to

1 six weeks later.

2 A Well, the decision to operate on a
3 patient depends, for a problem like this,
4 on a number of factors, the most important
5 of which is the patient's overall or
6 general condition.

7 Q Sure.

8 A It would have not been prudent to
9 operate early on this patient under any
10 circumstance.

11 Q I am sorry.

12 A It would not have been prudent for
13 anybody to consider an operation on the
14 back here under any circumstances in the
15 absence of any significant neurological
16 findings or abnormalities.

17 Q All right.

18 A One would assume that this patient
19 had an unstable back, and that something
20 eventually should be done for her.

21 Q That "something" being an attempted
22 reduction and fusion?

23 A Yes,

24 Q Is there a window within which that
25 has to be accomplished?

1 A In **order to** do what?

2 Q In order to achieve reduction.

3 A Let me profess my ignorance to you.

4 Q Okay.

5 A I don't know whether a surgeon could
6 have technically reduced this on day one
7 easier than he could have done it on day
8 ten, or easier than he could have done it
9 on day 30. I just don't know. I really
10 don't know.

11 MR. JEFFERS: Let me put another
12 objection on the record, not to be
13 totally objectionable **for** the
14 evening. But, Dr. Shafron is here
15 on behalf of the radiologist, and
16 all I have been hearing recently
17 are questions which are dealing
18 with the particular surgical
19 procedure which would seem to relate
20 to the orthopedic surgeon in this
21 case. He wasn't, as he indicated
22 at the beginning, retained to make
23 comments on that.

24 **So**, he's not basically here
25 for you to sit around and ask him

1 about orthopedic problems, as far
2 as I can tell, in this type of
3 deposition. So, I object to your
4 doing it. To make life simple, I
5 will be quiet if you will accept
6 that I object to all of these
7 questions.

8 MR. SCHARON: I accept that,
9 sir.

10 MR. JEFFERS: Okay,

11 MR. SCHARON: I really think
12 that these questions go to the
13 proximate cause issue which,
14 apparently, he is here to testify
15 about.

16 BY MR. SCXARON:

17 Q Putting that aside, I presume that,
18 when you said that it wouldn't have been
19 prudent to operate on the patient
20 immediately after her trauma and admission
21 to the hospital, that has to do with her
22 general physical condition.

23 MR. JEFFERS: I object. He
24 said: without significant neuro-
25 logical signs.

1 THE WITNESS: Plus the fact that
2 she was having bleeding.

3 BY MR. SCHARON:

4 Q Yes.

5 A Nobody knew where. She was given
6 both fluid and blood resuscitation in the
7 first three or four or five days, and life
8 is more important than limb, so that the
9 people in charge of her care had to make
10 certain decisions about her.

11 Q Okay.

12 A And, they did.

13 Q If the lumbosacral facet dislocation
14 had been diagnosed in the period when the
15 ore important care, ~~the~~ life care, was
16 being rendered, would any precautions have
17 been important to make sure that the
18 unstable spine didn't progress?

19 MR. JEFFERS: Objection.

20 THE WITNESS: The only --

21 MR. GROEDEL: Objection. Go
22 ahead,

23 THE WITNESS: The only thing
24 that you could have done, if you
25 felt the patient was in an unstable

1 situation, would be to brace her,
2 if you could.

3 MR. JEFFERS: It would be what?

4 THE WITNESS: To brace her.

5 MR. JEFFERS: Okay.

6 THE WITNESS: Satisfactory or
7 unsatisfactory as that may be, that
8 would have probably been difficult
9 early on, because she had so much
10 pain and swelling that it might
11 have been difficult to fit her
12 with an appropriate brace,

13 BY MR. SCHARON:

14 Q Would you try to avoid getting a
15 person up and walking or off to physical
16 therapy?

17 A If I thought she were unstable, that
18 would not be a prudent thing to do.

19 Q From the records at Hillcrest
20 Hospital, it appears that, on discharge
21 from the hospital after five or six weeks,
22 and I don't know the exact date --

23 A Yes.

24 Q I will use that term, five or six
25 weeks after her admission; she was

1 ambulating with the assistance of a
2 walker.
3 A Uh-huh.
4 Q You still --
5 A Uh-huh,
6 Q Does that indicate that it is
7 possible that she had some weakness of her
8 legs at that point in time?
9 A No. It doesn't mean a thing.
10 Q It doesn't mean anything?
11 A I can't tell you why she was walking
12 with a walker.
13 Q Okay.
14 A I would have to look at her therapy
15 notes, but I can't tell you why.
16 Q In reviewing the records, did you see
17 whether or not, during the hospitaliza-
18 tion, right up until, say, the last week
19 before she was discharged, she had inter-
20 mittent complaints of numbness and
21 tingling in her legs --
22 A Uh-huh.
23 Q -- to the physical therapist and also
24 to the nurses?
25 A Uh-huh.

1 Q Were you able to see whether the
2 physicians who were taking care of her
3 were reacting to those complaints'?

4 MR. JEFFERS: Objection. You
5 are outside the scope of this.

6 MR. GROEDEL: Yes. Yes, John.
7 Really, he's not going to testify
8 on direct examination about any of
9 those issues. So, I really don't
10 think it is fair for you to inquire
11 in this deposition on those issues.

12 MR. SCHARON: Are you telling
13 him not to answer?

14 MR. JEFFERS: You are about
15 to take Dr. Brooks' deposition.
16 So, you may inquire then.

17 MR. GROEDEL: I would rather
18 that you go on to another question.
19 For discovery purposes, you are
20 entitled to inquire into his
21 opinions that he is going to give
22 on direct examination, and on
23 direct examination, **he** is not
24 going to be talking about these
25 issues. So, in all fairness --

1 MR. SCHARON: Well, look --

2 THE WITNESS: I'll answer
3 your question.

4 MR. SCHARON: Fair or not,
5 what I am really trying to do is
6 get as much information as I can
7 from the doctor. Now, I under-
8 stand what you are saying.

9 THE WITNESS: There is no
10 mention of this in any of the
11 progress notes written by --

12 MR. GROEDEL: Wait, Doctor.
13 There is no question before you
14 at the moment.

15 THE WITNESS: Oh, okay, okay.
16 I'm sorry. Excuse me. Pardon me,
17 gentlemen.

18 MR. SCHARON: With your state-
19 ment on the record that you are
20 not going to ask him any of that
21 business about how she was treated
22 at the hospital, I am comfortable
23 with not going into that, but only
24 based on that, because, obviously,
25 I don't want to get into a situa-

1 tion where I am going to get
2 ambushed. That is the popular
3 term these days.

4 MR. GROEDEL: I am not going
5 to ask Dr. Shafron on direct
6 examination any questions that
7 relate to Dr. Stone's conduct.

8 MR. SCHARON: All right.

9 MR. GROEDEL: We may tan-
10 gentially touch upon what happened
11 during that hospitalization.

12 MR. SCHARON: All right.

13 MR. GROEDEL: But only as it
14 relates to the opinions expressed
15 in his reports.

16 BY MR. SCHARON:

17 Q Well, let's do it this way: What was
18 her neurological condition during the
19 hospitalization and as of the time of
20 discharge?

21 A I can't tell. I can read to you what
22 the physical therapist says, but nobody
23 examined her neurologically, to the best
24 of my knowledge.

25 MR. JEFFERS: Pardon?

1 THE WITNESS: Nobody examined
2 her neurologically, to the best of
3 my knowledge, or if they did, it is
4 not recorded.

5 There are notes in the narra-
6 tive notes that on some days,
7 the patient complained of numbness
8 and tingling in her legs, and on
9 other days, she did not. Numbness
10 and tingling in the legs doesn't
11 mean anything if it is not localized.
12 So, I can't tell you, There are days
13 she had none.. There are day5 when
14 she had some.

15 If I could look at the physical
16 therapy notes in terms of specifics,
17 that might help. There is really
18 no neurological examination, as
19 far as I can tell in the record,
20 except that they do note on 5/24/84
21 or, excuse me, 5/24/86, that the
22 patient could have progressed to
23 being independent without a device,
24 which I presume is a cane or a
25 walker.

1 MR. JEFFERS: What date is
2 that, please?
3 THE WITNESS: It is dated
4 5/24/86 on the physical therapy
5 notes.
6 BY MR. SCHARON:
7 Q It is the outpatient physical therapy
8 note?
9 A Whether it was outpatient or not --
10 MR. JEFFERS: She was discharged
11 on the 20th.
12 THE WITNESS: Yes.
13 MR. GROEDEL: That's an out-
14 patient note.
15 BY MR. SCHARON:
16 Q In your first report of March 17,
17 1988, you state that you reviewed records
18 of Hillcrest Hospital and Cleveland Metro.
19 A Uh-huh.
20 Q "I did not see the patient, and I have
21 not reviewed the X-rays."
22 A Uh-huh.
23 Q But, then, in the next sentence you
24 say, "From reviewing the Hillcrest X-rays
25 --"

1 A It should be the records; that is an
2 error.

3 Q On the question of what Martha
4 Green's outcome would likely have been,
5 had she had an attempted fusion and
6 reduction of *the* dislocation in that
7 period four to six weeks after her trauma,
8 would you defer in your opinion on that to
9 an orthopedic surgeon who has seen and
10 treated and reduced three patients with
11 lumbosacral facet dislocations?

12 A I would have to see it.

13 MR. JEFFERS: Objection. ~~We~~
14 are back on the same line of
15 questioning.

16 MR. GROEDEL: I object also.
17 Go ahead.

18 THE WITNESS: I would have
19 to look at the records and see
20 that. I just have never seen
21 this injury.

22 BY MR. SCHARON:

23 Q Okay.

24 A And, I just don't know how common it
25 is. If an orthopedist says that he has

1 treated three patients, I would sure like
2 to see the cases that he said he did this
3 with. To me, it is an extraordinarily
4 rare injury. I have been in practice for
5 25 years, and I have never seen one. I
6 have never been involved with one. So, I
7 can't answer your question.

8 Q So, you wouldn't be willing to state
9 that you would defer to that orthopedist?

10 A No, not necessarily at all.

11 MR. SCHARON: Now, just so that
12 I don't waste time, Marc, on the
13 question of radiologic care, you are
14 not asking the doctor to comment one
15 way or the other as to whether
16 Dr. Zelch correctly interpreted
17 CT scans or plain films or any other
18 radiologic studies; am I right?

19 MR. GROEDEL: Correct, parti-
20 cularly in light of the fact that
21 he hasn't seen the films.

22 BY MR. SCHARON:

23 Q I am not asking this to be a wise
24 guy, but in your report, the first report
25 anyway, you talked about -- I am looking

1 on the first page in that bottom paragraph
2 right in the middle.

3 A Yes.

4 Q You indicated that a radiologist at
5 Metro General said that the findings "may
6 be related to previous trauma."

7 A That is what he said in his report,
8 I am just quoting his report.

9 Q I understand. In other words, there
10 is absolutely no way anyone could say with
11 reasonable medical probability that the
12 changes were due to trauma.

13 MR. SCHARON: I mean, is that
14 something that he is going to testify
15 about? **Because** it seems to me that
16 he is commenting there on the inter-
17 pretation of X-rays.

18 THE WITNESS: Well, the radio-
19 logist -- I am sorry, You are
20 asking Mr. Groedel the question.

21 MR. GROEDEL: I think, in my
22 interpretation of Dr. Shafron's
23 report, and, Doctor, you, of course,
24 should correct me if I am wrong,
25 he was commenting on the fact that;

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

the radiologist at Metro didn't
diagnose a traumatic dislocation.

THE WITNESS: Absolutely, that
is correct.

MR. SCXARON: Right.

BY MR. SCHARON:

Q Let me ask you this: If the
radiologic studies at Metro General did
show a lumbosacral facet dislocation, and
the radiologist at Metro General didn't
see it, are you saying that that excuses
Dr. Zelch?

MR. GROEDEL: Objection.

THE WITNESS: I am not saying
that at all.

BY MR. SCHARON:

Q From your review of the records, is
it also correct that the Metro General
radiologist noted a defect in the pars?

A That's what he said on the CT report.
He did not mention locked facets. Sa, I
can't answer that. That is all I can say.

Q From reviewing the operative notes in
the case, do you know whether she had a
pars defect?

1 MR. JEFFERS: Had a what?
2 MR. SCHARON: A pars defect.
3 MR. GROEDEL: You are talking
4 about the Metro record now, right?
5 MR. SCHARON: The operative
6 report.
7 MR. JEFFERS: Objection.
8 THE WITNESS: I have no idea.
9 You would have to ask the operating
10 surgeon, I assume. I don't know.
11 BY MR. SCHARON:
12 Q Do you know who diagnosed lumbo-
13 sacral facet dislocation in Martha Green?
14 A No. I don't know who made the
15 diagnosis first.
16 Q Or on what basis?
17 A No. Let me look at the operative
18 note here.
19 MR. JEFFERS: Was there a
20 question pending?
21 MR. SCHARON: No. He said no.
22 THE WITNESS: I don't offhand.
23 I could look through the records.
24 MR. JEFFERS: You don't have
25 to, Doctor.

1 MR. GROEDEL: You can look if
2 you want.
3 MR. JEFFERS: All right. Who
4 cares.
5 MR. GROEDEL: Look over here.
6 MR. JEFFERS: Is there a Dr.
7 Smith or Dr. Jones?
8 THE WITNESS: Well, it was
9 made postoperatively. I don't
10 know; it was not made pre-opera-
11 tively by the operating surgeon.
12 BY MR. SCHARON:
13 Q You are sure of that?
14 A He says that the pre-op diagnosis was
15 grade three traumatic spondylolisthesis,
16 L-5/S-1. The post-op diagnosis is dis-
17 located bilateral facets, L-5/S-1.
18 MR. JEFFERS: What is the
19 date?
20 THE WITNESS: August 11, 1986,
21 the date of the surgery. So, ■
22 can't tell you, So, ■ don't know
23 when he made the diagnosis.
24 Pre-operatively, he didn't indicate
25 it as such.

1
2 BY MR. SCHARON:
3 Q Pre-operatively, he called it trauma-
4 tic spondylolisthesis?
5 A That is correct.
6 Q Now can you get traumatic spondylo-
7 listhesis without dislocation of the
8 lumbosacral facets and without having
9 fractures?
10 A That beats me,
11 Q That is not possible; -is it?
12 A Not that I know of,
13 Q From the reports of the radiologist
14 at Metro, there were no fractures of that
15 area?
16 A As far as I know. That's a question
17 that you should ask an orthopedic surgeon.
18 Q You talked about her complaint of the
19 onset of bladder incontinence about a
20 month after her discharge from Hillcrest
21 Hospital. That was in, I think, your
22 second report, if I am not mistaken.
23 A I didn't see her, obviously, until
24 after I had sent my first report.
25 MR. JEFFERS: Off the record.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- - -

(At this time a discussion
was held off the record.)

- - -

BY MR. SCHARON:

Q Let's see if I can find it.

MR. GROEDEL: The second
paragraph.

MR. SCHARON: The second full
paragraph, right.

THE WITNESS: Yes.

BY MR. SCHARON:

Q You said that the exact nature and
significance of this is difficult to
ascertain.

A That is correct.

Q Are you saying that you don't think,
or you don't know whether that bladder
incontinence was a result of nerve root
involvement in the cauda equina?

A I do not know, because, in *my* own
experience, once this begins, it just
doesn't go away. In other words, it came
and went, and I have no idea of the sig-
nificance of it.

1 I will tell you in a71 honesty that I
2 don't know.

3 Q In other words, in your experience --

4 A In other words --

5 Q I don't want to cut you off.

6 A If she had a bladder problem because
7 of cauda equina compression, I would not
8 expect it to be here one day and gone the
9 next day. I really don't know why she had
10 it.

11 Q Did she indicate that it came and
12 went in a day?

13 A She had a short period of time when
14 it came and went, from the history I got
15 from her.

16 Q All right.

17 A She lost urine once or twice a week.
18 She just lost it. Thinks that -- she does
19 not think this happened while she was
20 asleep, and it went on when she was home
21 for a four-month period of time, so that
22 she had some periodic episodes where she
23 would lose urine once or twice a week.

24 Now, why this happened, I don't know.
25 I honestly didn't ask her whether it hap-

1 pened with coughing or sneezing, because a
2 lot of women will lose a little urine if
3 they cough or sneeze sometimes. But, I
4 didn't ask her that, and I really can't
5 tell you. I would think it would be
6 unlikely that it was due to cauda equina
7 compression.

8 Q Why?

9 A Because, once it happens, it doesn't
10 stop happening.

11 Q Even after a surgical procedure to
12 relieve that?

13 A Absolutely correct.

14 Q To relieve that impingement?

15 A This is long before. This is before,
16 and it went away.

17 Q I beg your pardon? Before --

18 A This happened during the period of
19 time after she left Hillcrest Hospital,
20 and, as far as I know, before she went to
21 Metro.

22 Q Right. Wasn't urinary incontinence
23 one of her complaints when she arrived at
24 Metro at the end of July of 1986?

25 A I can't recall that specifically.

1 Q The beginning of August?

2 A I would have to look.

3 Q Assume for a minute that it was.

4 A It was, okay.

5 Q Okay?

6 A Sure.

7 Q Assume that it was after arriving at

8 Metro, and she went through the diagnostic

9 workup, and she went to surgery for the

10 attempt at reduction and fusion which, as

11 we know, failed.

12 A Uh-huh.

13 Q And, after that period, after that

14 surgery, she had no more complaints about

15 bladder incontinence.

16 A That would even make me feel it's less

17 likely that it was related to cauda equina

18 compression.

19 Q Why?

20 A Because the fusion failed. Nothing

21 was really done, and she no longer had

22 incontinence. In other words, I really

23 don't know what the cause of that in-

24 continence was.

25 Q When her fusion failed --

1 A Wait one second.

2 Q Okay.

3 A I am trying to give an answer to
4 that, trying to be honest. There is a
5 cystometrogram that she had, and I am
6 trying to find this in the report.

7 No. What she is describing is not
8 incontinence. What she is describing, or
9 what the urologist describes is that she
10 has too much pain to be able to get to the
11 toilet on time. Once she has the feeling
12 that she has to go, she says that her back
13 pain is so bad that she can't control the
14 urge to void. In other words, she just
15 voids.. There, apparently, is no evidence
16 of incontinence from a neurological
17 deficit, as far as I can tell from reading
18 this note.

19 MR. JEFFERS: Doe5 that have a
20 marker? What page is that, or what
21 date?

22 THE WITNESS: It is dated
23 8/13/86.

24 MR. JEFFERS: '86?

25 THE WITNESS: That is the report

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

date.

MR. JEFFERS: What is it? What type of report is it?

THE WITNESS: It is a consultation report, I presume, from a urologist.

MR. JEFFERS: Okay.

BY MR. SCHARON:

Q Did you find the cysto?

A I am trying to find the report. They say one was done.

Q What in **the** cysto report would tell you whether or not it was likely or unlikely to be an incontinence?

A If the patient had incontinence from a cauda equina compression, there would be an atonic bladder. Let me see if it is in the discharge summary from the hospital. I don't find the report offhand. I don't see it, in all honesty. I would have to go through the chart very carefully to find it.

Q Could we look at your notes of your physical examination?

A Sure.

1 Q That was done in February of this
2 year?
3 A Yes.
4 Q What I would like to do is just have
5 you read those in, because I don't think
6 that the report is word-for-word what is
7 in your notes. Am I right about that?
8 A Whatever you want.
9 Q Well --
10 A I will read my notes.
11 Q Thanks.
12 A I saw no evidence of weakness. She
13 was able to step up and down without
14 difficulty. She was able to heel and toe
15 stand without difficulty.
16 Q Where are we looking now?
17 A Under "Exam."
18 Q All right. Well, please start at the
19 beginning of your two pages of office
20 notes.
21 A You want me to go through the
22 history?
23 Q Yes.
24 A Okay. Patient has back pain, her
25 primary complaint, which comes and goes.

1 I asked her how often **it** comes and
2 goes. She could not say. Then, she said
3 that she had some kind of pain every day
4 which varies in intensity. **It** can get
5 worse with activity such as getting on her
6 hands and knees, and when she **does** this,
7 she may experience sudden pain. She also
8 may have sudden pain with activity, and
9 she also may have no pain without much
10 activity.

11 She also has what she described as a
12 spasm of her muscles, She sees her
13 muscles contract. The muscles in her
14 thigh rip apart against themselves. She
15 **gets cramps** in her calves, or her feet will
16 curl under -- her **toes**. This may happen
17 four nights a week.

18 **It also** may happen occasionally on
19 days with sitting.

20 MR. JEFFERS: When, please?

21 THE WITNESS: **It** also may happen
22 during the daytime with sitting. She
23 said that the left side of her low
24 back will tighten up. **She said** that
25 these complaint5 that **she** was

1 describing to me began after her
2 second operation in 1987.

3 I asked her what medication she
4 was taking, and she told me. She
5 told me that she was involved in an
6 accident when she was struck by a
7 car and pinned between a car and a
8 van.

9 BY MR. SCHARON:

10 Q Do you have a note there about
11 whether she was given something for this?

12 A "Question Voltaren." I am not sure
13 that that was the medication that she was
14 given, and Vistaril.

15 Q And to the right of that?

16 A "Question, is this the right name for
17 this medication." I don't know.

18 Q Oh, all 'right.

19 A She told me something. In other
20 words, she told me the name of a
21 medication, and I could not be sure that
22 she was telling me correctly. The only
23 medication I thought that sounded like
24 that was Voltaren, which is a new type of
25 drug.

1 Q Just above that, it says "never --"
2 A "-- quinine."
3 Q "Quinine," what's that about?
4 A I am a doctor. She had lots of
5 cramps in her calves at night, and quinine
6 is a medication that is used to sometimes
7 treat this. I told her, as I said in *my*
8 report -- I was very candid. I said:
9 Talk to your doctors about this. I can't
10 treat you, but there are medicines that
11 can be tried which sometimes help cramping
12 at night, because I have lot5 of patients
13 with this.
14 Q You don't feel that this cramping
15 pain is any sign of a neurological
16 problem?
17 A No.
18 Q Is cramping pain sometimes a sign of
19 a neurological problem?
20 A Not necessarily at all. I see a lot
21 of patients postoperatively, and it is not
22 a sign of a neurological problem.
23 Q Never?
24 A Well, nothing is "never,"
25 Q Right. Sometimes it is?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. JEFFERS: Object, object.

THE WITNESS: What I am saying is: In my own experience, it isn't; but if you ask me: Is it 100 percent, I will tell you that nothing in medicine is 100 percent. I just don't think that that cramping is a sign of nerve compression. I see a lot of patients with night cramps.

BY MR. SCHARON:

Q Okay.

A That is all. Should I go on?

Q Yes.

A She told me that, after the accident, she had a great deal of back pain following her discharge from the hospital. She couldn't walk because her legs bothered her, She had a tingling or pinching feeling in the lower abdomen and groin, and experienced it for a long time. She thinks this may have gone away after the second operation.

She told me that she saw Dr. Stone and presumably told the doctor something

1 about this urinary difficulty which she
2 had some time after her discharge from the
3 hospital, presumably within a month or so.
4 She said that she would lose urine once or
5 twice a week. She just lost it. It did
6 not happen while she was asleep. She was
7 home for a four-month period of time.

8 She said that her body was becoming
9 deformed. Her hips were in the middle of
10 **her** back, and everything was swollen.

11 These ere her words.

12 Q Uh-huh.

13 A She said that she was hospitalized
14 for four months the first time, but I am
15 not sure that that is correct. That is
16 what she told me.

17 She also told me that she was in a
18 car accident in September of 1985, also an
19 accident in February of 1986. She told me
20 about her other surgery. She had a
21 hysterectomy in October of 1988.

22 Q She gave you some details about those
23 two automobile accidents?

24 A She told me, regarding the one in
25 September of 1985, she was making a turn,

1 and a van wa5 backing up and hit her at an
2 angle. She had X-rays taken at Suburban
3 Hospital of, presumably, the low back.

4 She said she saw no doctor afterwards.

5 Q You have a note there, something
6 about "hard to believe."

7 A Yes.

a Q Why?

9 A Well, I am not naive. I can't
10 believe that she wasn't involved in a
11 lawsuit about his.

12 Q About what?

13 A About that first accident.

14 Q How do you know she was?

15 A I just find it hard to believe, and
16 that's a comment I made, because I see
17 tons of patient5 who are touched by other
18 cars who run to hospitals or are seen by
19 doctors for years. So, I just made a
20 comment that it was a little hard to
21 believe.

22 Q Do you think she is one of those
23 patients?

24 A I just made a comment about it. I
25 didn't say anything else. You brought it

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UP-

Q I am trying to explore why you made the comment about her.

A I have no idea.

Q Well, is she a hard-to-believe person?

A I just wrote that her comment about what happened to her was a little bit hard to believe when she said that she had a problem with her low back and never saw a doctor afterwards. That's all I said.

Q Do you know whether she made a claim for that automobile accident?

A I never asked, and I haven't the vaguest idea.

Q Is it your experience that people who don't make claim5 don't run to the doctor'?

A They may or may not. She was also involved in an accident in February of 1986 when her car' was struck on the driver's side by another car. She said that she struck her head and neck, and her head hit the window; that she again went to Suburban Hospital and had X-rays of her head and neck; and she never saw an M.D.

1 afterwards. She said that she has many
2 medications at home.

3 Q All right.

4 A She stated with specific questioning,
5 obviously, that she has no leg pain what-
6 soever. She had a hysterectomy in October
7 of 1988 for fibroids. She told me that
8 she was divorced, was married, and the
9 mother of two children ages 17 and 11.

10 I asked her where they took her bone
11 grafts from. She said from the pelvis,
12 which is really the iliac crest, in the
13 first operation, and from the left fibula
14 in the second operation.

15 Then, I examined the patient.

16 Q You started to tell us about the
17 exam. I see "no weakness."

18 A Uh-huh.

19 Q Go ahead.

20 A "Steps up and down without diffi-
21 culty. Heel and toe stands without
22 difficulty. Good pulses in her legs, and
23 both calves were equal in circumference."

24 Q Was the patient undressed for this
25 exam?

1 A Well, undressed enough so that ■
2 could do the examination appropriately. I
3 didn't have her nude.
4 Q Well, I mean, were you able to see
5 the contours of her posture and her spine'?
6 A Let me just tell you something. I
7 didn't note anything, and if ■ did, I
8 can't make any comment about it, because I
9 did not make a note of it.
10 Q All ■ am trying to find out is
11 whether you were in a position to see it.
12 A I was in a position to see it.
13 Q All right.
14 A If ■ have a female patient with a
15 problem with the low back, I ask them to
16 take off all of their clothes other than
17 their undergarments, their bra and
18 panties.
19 ■ tested her for pin sensation.
20 There were at times scattered areas, I
21 thought, and it was not reproducible.
22 There were scattered areas of altered pin
23 sensation on the anterior thighs, the
24 medial calf, and the lateral calf, and I
25 just couldn't be sure.

1 I repeated it, but I just couldn't be
2 sure, but I mentioned it.

3 I tested her reflexes in the lower
4 extremities. I noted that the ankle jerks
5 or the Achille's reflexes were decreased
6 when compared to the others. There are no
7 pathological reflexes.

8 I performed the straight-leg raising
9 test, and I said that there was no sciatic
10 pain or back pain with the straight-leg
11 raising maneuver. I felt that she had no
12 striking focal findings.

13 Q Then, what is the last note?

14 A "Again review records."

15 Q In the first sentence of your report
16 of March 7, 1989, you say, "I saw this
17 very nice lady in my office,"

18 A Uh-huh.

19 Q On page two you say that it is hard
20 to believe that she didn't see any doctor
21 after this automobile accident.

22 A So?

23 Q Did you come to any conclusion about
24 whether or not she was a credible person?

25 A No.

1 Q Okay.

2 A I reported what I felt. I reported

3 what I saw objectively.

4 Q Well, you also reported subjectively

5 that you found it hard to believe that

6 somebody had an automobile accident --

7 A That *is* correct. That *is* correct,

8 Q So, you weren't being totally ob-

9 jective, right?

10 A Well, I try to be objective in my

11 report. I mean, I found it a little hard

12 to believe personally.

13 Q Having not seen the X-rays, is it

14 correct that you are not in a position to

15 say whether the degree of her spondylo-

16 listhesis advanced between the time she

17 was discharged from Hillcrest and the time

18 she got to Metro?

19 A I can't say. I can't say.

20 Q So, that is correct; you are not in a

21 position to say?

22 A I would have to look at it.

23 Q Have you had any discussions about

24 this case with anyone other than Mr.

25 Groedel?

1 A Not to the best of my knowledge.
2 Q Have you talked to Dr. Stone or Dr.
3 Zelch about it?
4 No, sir.
5 Are you planning to do anything else
6 on this case, other than respond to ques-
7 tions?
8 MR. GROEDEL: Objection. Go
9 ahead.
10 THE WITNESS: I don't know
11 what else *you* want ~~me~~ to do.
12 BY MR. SCHARON:
13 Q I mean, are you planning to do any
14 literature review or review any further
15 records or review X-rays?
16 A I don't know whether there are any
17 further X-rays to review or records to
18 review. I don't know whether Mr. Groedel
19 wants me to --
20 Q I am asking subjectively what *you*
21 have in mind to do now.
22 A I have nothing in mind to do.
23 Q Very good.
24 MR. GROEDEL: Just go home,
25 right?

1 MR. JEFFERS: Go home and have
2 dinner.
3 THE WITNESS: Or meet my wife
4 somewhere,
5 BY MR. SCHARON:
6 Q In your report, in the last sentence
7 of paragraph two, and this is the report
8 of March 7 --
9 A Uh-huh.
10 MR. JEFFERS: The last sentence
11 of what?
12 MR. SCHARON: Paragraph two.
13 MR. JEFFERS: What page?
14 MR. SCHARON: One.
15 MR. JEFFERS: Thank you.
16 THE WITNESS: Yes.
17 BY MR. SCHARON:
18 Q "She related a variety of complaints,
19 including the fact that her body was
20 'becoming deformed in that her hips were
21 in the middle of her back,'" '
22 A That is what she told me. Those are
23 her words, sir.
24 Q What was the variety of complaints
25 that you were referring to?

1 A It is just mentioned there.

2 Q Anything other than being deformed

3 and having her hips in the middle of her

4 back?

5 A And the pain.

6 Q "And everything swollen"; that is

7 another one of your notes.

8 A That is what she said.

9 Q There is nothing else that is not

10 noted?

11 A No, sir.

12 Q "Weakness in the legs was tested with

13 heel and toe walking."

14 A Uh-huh, indeed.

15 Q Any other tests?

16 A No. That's the best to use, which is

17 a functional test, sure.

18 Q Have you reached any conclusions

19 about whether the degree of pain that she

20 complains of subjectively is disabling?

21 A I could not. Even in retrospect

22 thinking about her, I can't say.

23 MR. SCHARON: I don't have any

24 other questions for you.

25 MR. JEFFERS: I have just a

C E R T I F I C A T E

The State of Ohio,)

County of Cuyahoya.) SS:

I, Luanne Protz, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, MELVIN SHAFRON, M.D. was by me first duly sworn to testify to the truth, the whole truth and nothing but the truth in the case aforesaid; that the testimony then given by the above-referenced witness was by me reduced to stenotypy in the presence of said witness; afterwards transcribed, and that the foregoing is a true and correct transcription of the testimony so given by the above-referenced witness.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney for either party, or otherwise interested in the event of this action.

1 IN WITNESS WHEREOF, I have hereunto
2 set my hand and affixed my seal of office
3 at Cleveland, Ohio, this 28 day of
4 July A.D., 1989.

5
6 Luanne Protz
7 Luanne Protz, Notary Public

8 In and for the State of Ohio

9 My commission expires 4/9/93
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Drs. Wolkin, Shafron & Colombi, Inc.

26900 Cedar Road
Beachwood, Ohio 44122
(216) 831-6595

Julius Wolkin, M.D. (retired)
Melvin Shafron, M.D.
Benedict J. Colombi, M.D.

**PRACTICE LIMITED TO
NEUROSURGERY**

CURRICULUM VITAE

Melvin Shafron, M.D.

EDUCATION:

B.S. Adelbert College, Western Reserve University 1952
Phi Beta Kappa, Omicron Delta Kappa
Delta Sigma Rho Honorary Societies

M.D. Harvard Medical School 1956

INTERNSHIP :

University Hospitals, Ann Arbor, Michigan 1956-1957

RESIDENCY:

General Surgery: Cleveland Veterans Administration Hospital 1959-1960

Neurological Surgery: University Hospitals of Cleveland 1960-1964

MILITARY SERVICE:

United States Navy - Active Duty 1957-1959

PRESENT ACADEMIC APPOINTMENT :

Associate Clinical Professor, Neurosurgery
School of Medicine, Case Western Reserve University

SOCIETIES:


AMA, OSMA, Cleveland Academy of Medicine,
Ohio State Neurosurgical Society,
Northeast Ohio Neurosurgical Society,
American Association of Neurological Surgeons,
Neurosurgical Society of America,
American College of Surgeons,
Cleveland Surgical Society,
Certified by American Board of Neurosurgery 1966

HOSPITAL APPOINTMENTS AND OTHER ACTIVITIES:

Mt Sinai Medical Center,
Visiting Surgeon (neurosurgery)
Director, Division of Neurosurgery
Member of Medical Council
Past Member of Executive Committee
Past Treasurer, Medical Staff

Hillcrest Hospital,
Active Staff, Past Chief of Staff
Past Member, Board of Trustees,
Past Chairman of Medical Council

• (continues next page)



CURRICULUM VITAE
Melvin Shafron, M.D.

Hillcrest Hospital (continued)

Past Member of the following Committees :

Tissue

Utilization

Peer Review

Quality Assurance (Chairman)

Credentials & Intensive Care

Director, Division of Neurosurgery

Suburban Hospital

Active Staff

Past President of Medical Staff

Cuyahoga County Medical Society

Past Member of Health Insurance Review, Legislative, Ethics, &

Peer Review Committees

Past Member of the Board of Directors (two terms-six years),

Past Member of Executive Committee of Board of Trustees,
(two years), Vice President

TEACHING ACTIVITIES:

Monthly Tutorials - 3rd Year Clerks

QWRU School of Medicine

Educational Activities - Department Surgery, Mt. Sinai

Weekly Activities - (Meetings)

Division Neurosurgery

QWRU

SOCIETY ACTIVITIES:

Northeast Ohio Neurosurgical Society

Past President and Secretary

41

American College of Surgeons,

Ohio Chapter, Member of Credentials Committee

Neurosurgical Society of America

Past Member and Chairman of Long Range Planning,

Nominating, and Membership Committees,

Current Member of Executive Committee,

President 1988-1989

CURRICULUM VITAE
Melvin Shafron, M.D.

LECTURES/PUBLICATIONS :

Guest Participant in **8th** Annual Neurophysiology Conference sponsored by Department of Neurology, Washington University School of Medicine, St. Louis, Missouri, April, 1963

Ascending Spinal Pathways of Centre Median Nucleus in Cat.
An experimental Method for the Study of Pain
Melvin Shafron and **William F. Collins, J.** of Neurosurgery, **Vol. XXI**, no. **10**, Pages 874-879, 1964

Exhibit on Experience with the **Treatment** of Hydrocephalus with Ventriculo-Jugular Shunt at University Hospital
Annual Meeting, Harvey Cushing Society, **April**, 1965

Pantopaque Examination of the **Cerebellopontine** Angle
Melvin Shafron and Stephen Weiner, Radiology, **Vol. 85**, No. 5, Pages 921-926, Nov. 1965

Aneurysm of Vein of **Galen** in Infancy, Javier **Verdura** and **Melvin Shafron**
Surgery, Vol. 65, No. 3, pages 494-498, 1969

Treatment of Carotid Artery Aneurysms by Carotid Ligation,
Annual **Meeting**, Neurosurgical Society of **America**, 1973

Unusual Vascular Complications of **Trauma**, Annual Meeting,
Neurosurgical Society of America, 1973

M.R.I. evaluation of Pituitary Tumors, Annual Meeting,
Neurosurgical Society of **America**, 1984

Management of Extensive and Difficult Cranial Defects ,
Bahman Guyuron, Melvin Shafron, and Benedict **Colombi** ,
J. of Neurosurgery, Vol. 69, No. 2, pages 210-213, August , 1988

REMININGER & REMINGER CO., L.P.A.

ATTORNEYS AT LAW

THE 113 ST. CLAIR BUILDING
CLEVELAND, OHIO 44114-1273

CABLE: "LEEREM"

TELEX: 980123

TELECOPIER: (216) 687-1841

(216) 687-1311

*discuss
1/27/88*

RICHARD T. REMINGER
ROBERT B. FLECK
MARIO C. CIANO
LEONA WEISS
HANA NEVILLE
WILLIAM V. VALIS
LESLIE J. SPISAK
GARY H. GOLDWASSER
WILLIAM P. FARRALL
JAMES L. MALONE
NICHOLAS J. MILANICH
W. FREDERICK FIFNER
DAVID ROSS
P. MICHAEL WARD
GEORGE S. COAKLEY
HUGH J. BODE
STEPHEN D. WALTERS
ROY A. HULME
DOUGLAS K. FIFNER
TIMOTHY G. KASPAK
JOHN R. IRWIN, M.D.
MARC W. GROEDEL
ROBERT D. WARNER

ROBERT F. DEACON
JAMES J. TUREK
FRANK LEONETTI, III
RICHARD J. RYMOND
MATTHEW C. O'CONNELL
JOHN G. PETO
PETER W. MARMAROS
CRAIG A. MARVINNEY
JOHN R. SCOTT
FRANCIS X. GARDNER
ALAN B. PARKER
CHARLES P. ALUSHEFF
RONNA F. RUPPELT
NICHOLAS D. SATULLO
VICTORIA M. POHLMAN
FRANK Aveni
ROBERT D. VILSACK

OF COUNSEL:
LEWIS J. RINGLER

GEORGE M. GREETHAM (1888-1958)
EDWIN C. REMINGER (1895-1977)

January 21, 1988

Melvin Shafron, M.D.
26900 Cedar Road
Beachwood, Ohio 44122

Martha Green vs. Hillcrest Hospital, et al
Our File No.: 360-02-12566-87
Cuyahoga County Common Pleas Case No. 133825

Dear Dr. Shafron:

I represent the interests of Dr. James Zelch, a radiologist, and Dr. James Heller, a general surgeon, in a medical malpractice action that has been brought against these two individuals and others by Ms. Martha Green. I am in need of expert consultation in this matter and would like to know whether or not you would be willing to serve as an expert consultant on behalf of my two clients.

The salient facts may be summarized as follows. The patient, Martha Green, is a 30 year old female who was pinned between two cars in a parking lot on February 15, 1986, and brought to the Hillcrest Hospital Emergency Room by ambulance. Her chief complaint was pain in her lower back and pelvis along with paresthesia of both feet and along the back of the left leg. Her abdomen also showed moderate lower abdominal tenderness along with ecchymosis over the lower abdomen. Lacerations of the lower extremities were repaired and the patient received five units of blood over the first 48 hours of her hospitalization.

CAT scans of the lumbar spine were taken and interpreted by Dr. Zelch. A spondylolisthesis at the L5-S1 level was found. Dr. Zelch felt that this was a congenital abnormality as opposed to a traumatic injury. It is my understanding that Dr. Heller



Melvin Shafron, M.D.
Page Two

managed the patient for her internal injuries, while Dr. Sidney Stone managed the orthopedic aspect. The patient was treated conservatively. At the time of discharge, March 20, 1986, she was able to ambulate with a walker.

Mrs. Green then underwent physical therapy on an out-patient basis at Hillcrest Hospital. Her lower back pain complaints continued and she was eventually able to progress to using a cane. Our notes indicate that she was last seen for physical therapy on April 21.

On August 8, 1986, she was admitted to Cleveland Metropolitan Hospital for further work-up, coming into the care of Dr. R. Geoffrey Wilber. Additional CAT scans were taken which revealed evidence of Grade II spondylolisthesis of L5/S1. A myelogram revealed a complete blockage in the L4-5 area. On August 11, 1986 Dr. Wilber performed an L5 laminectomy with bilateral posterolateral fusion of the L4 to the sacrum. Surgical notes indicate that a rather large cyst was found at the time of the thoracodorsal fascia was opened. The cyst was approximately 8" x 8". Notes also indicate that an attempted reduction was carried out. The L5/S1 facet dislocation could not be reduced. Therefore, the decision was made to do a fusion and the surgery was successfully completed. The remainder of the patient's hospital course was essentially uneventful and August 26, 1986, she was transferred to Highland View Hospital for further rehabilitation. She was discharged on November 4, 1986.

She apparently did well until January of 1987 when intermittent lower back pain and transient intermittent numbness of the right leg reappeared. She was admitted to Cleveland Metropolitan General Hospital on April 3, 1987. On April 6, Dr. Wilber performed a fibular strut graft of the L5-S1. The rest of the hospital course was uneventful and the patient was again transferred to Highland View Hospital on April 20, 1987 for further physical therapy. She remained at Highland View until May 15, 1987. Notes indicate that the patient was told that her pain would be chronic in nature but that it would decrease with time.

Although I have yet to receive any expert report from Plaintiff's counsel, it is anticipated that Plaintiff will allege that Dr. Zelch erred in diagnosing the spondylolisthesis as congenital in nature. I suspect that Plaintiff will argue that Dr. Zelch should have diagnosed a traumatic spondylolisthesis, and had such diagnosis been made, immediate surgery would have transpired with a better result than we have seen thus far. I would

Melvin Shafron, M.D.
Page Three

therefore appreciate any comments you might have on this potential issue. Does traumatic spondylolisthesis warrant an immediate surgery? Would Mrs. Green's subsequent surgical course been any different had a traumatic spondylolithesis diagnosis been made at the outset? Would her prognosis have been any different had the surgeries took place in 1987 been accomplished when she was first admitted to Hillcrest Hospital?

Please advise as to whether you will need to view any of the CAT scan and/or x-ray films from either Hillcrest Hospital or Cleveland Metropolitan General Hospital. Also, for your review, I herewith enclose the following documents:

1. Hillcrest Hospital chart for admission of February 15, 1986.
2. Hillcrest physical therapy records (out-patient 4/1/86 to 5/24/86).
3. Cleveland Metropolitan records (admission 8/8/86)
4. Cleveland Metropolitan records (admission 4/3/87)
5. Summary of above-noted hospital records.
6. Report of Dr. R. Geoffrey Wilber, dated November 3, 1987.

Your frank and candid opinions are requested. We will, of course, gladly honor your statement for services rendered. Finally, give me a call after you have had the opportunity to review these materials so that we might discuss your opinions on a preliminary basis. Should you require any additional information or have any comments or questions regarding this matter, please do not hesitate to contact me. I look forward to hearing from you.

A

Very truly yours,

REMINGER & REMINGER CO., L.P.A.


Marc W. Groedel

MWG: pmh

REMININGER & REMINGER CO., L.P.A.

ATTORNEYS AT LAW

THE 113 ST. CLAIR BUILDING
CLEVELAND, OHIO 441 14-1273

CABLE: "LEEREM"

TELEX: 980123

TELECOPIER: (216) 687-1841

(216) 687-1311

ROBERT F. DEACON
JAMES J. TUREK
FRANK LEONETTI, III
RICHARD J. RYMOND
MATTHEW C. O'CONNELL
JOHN G. PETO
PETER W. MARMAROS
CRAIG A. MARVINNEY
JOHN R. SCOTT
FRANCIS X. GARDNER
ALAN O. PARKER
CHARLES P. ALUSHEFF
RONNA F. RUPPELT
NICHOLAS D. SATULLO
VICTORIA M. POHLMAN
FRANK Aveni
ROBERT D. VILSACK

OF COUNSEL:
LEWIS J. RINGLER

GEORGE M. GREETHAM (1888-1958)
EDWIN C. REMINGER (1895-1977)

March 11, 1988

Melvin Shafron, M.D.
Mt. Sinai Suburban Medical Building
26900 Cedar Road
Beachwood, Ohio 44122

SUBJECT: Martha Green v. Hillcrest Hospital, et al.
Our File Nos.: 360-02-12566-87 and
420-02-12532-87
Cuyahoga County Common Pleas Case No.: 133825

Dear Dr. Shafron:

Enclosed please find a letter from plaintiff's counsel summarizing the opinions of his two expert witnesses, Drs. Glaser and Hartz. At this stage, I will need a report from you summarizing your opinions.

As you may recall from our previous discussion, it was your opinion that the delay in diagnosing her spondylolisthesis as traumatic did not have any impact upon either her immediate⁴ course of treatment or her current level of disability. Needless to say, please favor me with your statement for services rendered along with your report.

Very truly yours,

REMININGER & REMINGER CO., L.P.A.




Marc W. Groedel

MWG/jdd
Enclosure



Anson Martha

ms 33



2/21/89 =

c.c. Boch: pain in back

comes/goes - how often - I can't say

then pain of one kind every day - varies in intensity

gets worse = activity - worse = hands/feet
can experience sudden pain = has time to pain's
much activity

also "spasm" of muscles - sees muscles contract
muscle it tries to up itself apart
feet and under - happens 4 times/week
④ day sitting

① side of lower back
right side
of =

began after 2nd of 1987 = doctors at home

U.S. MAIL

④ 70040222

never quit

? given a name for this

Reb
Hillest
J. Hillest

Basically that's

1980 = accident - impact blow
hit by car - pinched between 10 & 11th

14th Hillest presents time

much back pain follows - couldn't walk
he came to work - leg also hurt - a Thigh/punch
felony in lower abdomen & groin - experienced it
for a long time - ? went away after 2nd of

see Dr. Stone - presumably told Dr. Stone about

she was depressed - some time after disaster
couldn't work - her she lost most 2-3 weeks

just lost it - Thinks it did not help her
while she was asleep; time for 4 months period time

her body was becoming deformed by legs were in the
middle of back - eyes Dr. Swollen

hosp. 4 months 1st time

Not Aug - Aug - NOV
Hosp: went home
re-admitted

April following
2 mos

car accident Sept. 1985 =

PT. was in a Van & a Van Bockis up hit
her at an angle = head x-ray Schubert = low back
he doctn after wards = head x-ray

accident Feb. 1986 - drivers side hit

apfel glands

hypertension - Oct. 1988

thromb - veins Fibroid

menstrual = 12/11

her hand - divorce

by another car - hit head/neck =

head hit window

Schubert - x-ray head/neck } never saw

her very neck at home

nowish now

nowish then

see below 1st down set

schuler (1) 2nd

she has
no leg pain

what's wrong

Ex:

I see no weakness - steps up/down
I difficulty - feel the hands & feet
good pulse B.M. cubes 39

fx: intact to proprioception
fx to fine acrobacy at toes -
scattered & fine - ant. Mgn, medial calf &
lateral calf

Reflex: R L U-J A-J Tors
H H H
H H H

SLR - 90/90 & scudica

a high pain

current: no focal findings
exc

aga seven recasts