

COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO

SUZANNE BOYD, EMC, ET AL. :  
PLAINTIFFS, :

*Doc. 407*

-VS- : CASE NO 23B783

BERT M BROWN, ET AL. :  
DEFENDANTS :

Deposition of DONALD A SHUFFICK, Esq., a  
witness herein, taken by the plaintiffss upon  
cross-examination pursuant to the Ohio Rules of  
Civil Procedure and pursuant to subpoena and  
Notice to Take Deposition and stipulations  
hereinafter set forth at the University of  
Cincinnati Medical Science Building, Conference  
Room 6505, 231 Bethesda Avenue, Cincinnati, Ohio  
at 11:10 a.m. on Friday, August 12, 1994 before  
Lois A Roll, RPR, a notary public within and for  
the State of Ohio.

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Charles M. Young, **Esq.**

4 of

5 Sindell, Lowe & Guidubaldi

6 Tower City Center

7 610 Skylight Office Tower

8 1660 West Second Street

9 Cleveland, Ohio 44113-1454

10 On behalf of the Defendants, Dr. Bert Brown and  
11 Cleveland Ear, Nose, Throat:

12 Patrick J. Murphy, **Esq.**

13 of

14 Jacobson, Maynard, Tuschman & Kalur

15 1001 Lakeside Avenue, Suite 1600

16 Cleveland, Ohio 44114-1192

17 On behalf of the Defendants, Dr. Alonso and  
18 Garfield Pathologists Associates, Inc.:

19 Steven J. Hupp, Esq.

20 of

21 Jacobson, Maynard, Tuschman & Kalur

22 1001 Lakeside Avenue, Suite 1600

23 Cleveland, Ohio 44114-1192

24 - - -

~~Spangler Reporting Services~~

## S T I P U L A T I O N S

It is stipulated by and among counsel for the respective parties that the deposition of DONALD A. SHUMRICK, M.D., a witness herein, may be taken as upon cross-examination pursuant to the Ohio Rules *of* Civil Procedure and pursuant to agreement and Notice to Take Deposition; that the deposition may be taken in stenotypy by the notary public-court reporter and transcribed by her out of the presence of the witness; that the transcribed deposition is to be submitted to the witness for his examination and signature, and that signature may be affixed out of the presence of the notary public-court reporter.

- - -

## I N D E X

WITNESS

CROSS-EXAMINATION

Donald A. Shumrick, M.D.

4

- - -

1 DONALD A. SHUMRICK, M.D.  
2 of lawful age, a witness herein, being first duly  
3 sworn as hereinafter certified, was examined and  
4 deposed as follows:

5 CROSS-EXAMINATION

6 BY MR. YOUNG:

7 Q. Dr. Shumrick, would you state your  
8 name for the record, please.

9 A. Donald A. Shumrick.

10 Q. And your business address here?

11 A. University of Cincinnati Medical  
12 Center, Mail Location 528, Cincinnati, Ohio  
13 45267. We just changed our address, that's why I'm  
14 waffling on the numbers.

15 Q. Doctor, you have told me that you  
16 will provide me with a CV at a later date, but do I  
17 understand that you are a Board certified  
18 physician?

19 A. Yes, I am.

20 Q. In what area?

21 A. Otolaryngology, head/neck surgery.

22 Q. And what *is* the position that you  
23 hold here with the University of Cincinnati?

24 A. I am a full professor of

1 otolaryngology and head/neck surgery, and I was  
2 chairman of the department for 28 years. I'm not  
3 chairman now. I stepped down.

4 Q. And in connection with the Allen Boyd  
5 matter, you received a request from Mr. Murphy; is  
6 that correct?

7 A. Yes.

8 Q. Did the request actually come from  
9 Mr. Murphy?

10 A. Yes, it did.

11 Q. Can you tell me approximately when  
12 Mr. Murphy would have contacted you concerning this  
13 case?

14 A. Sometime in 1993, I don't recall the  
15 date to be honest.

16 Q. And --

17 A. I think in the fall, about a year  
18 ago.

19 Q. Okay. And can you tell me how that  
20 contact was made?

21 A. I think originally he called me.

22 Q. By telephone?

23 A. Yes.

24 Q. And what did he tell you when he

1 contacted you?

2 A. He asked me if I would be available  
3 to review a case that he was involved in.

4 Q. All right. Now, I understand that  
5 you have a file on this matter but that it **is** not  
6 in the building today; is that correct?

7 A. Yes.

8 Q. And you have agreed to provide me  
9 with a complete copy of that file without any  
10 deletions, any corrections or anything?

11 A. Yes, yes, of course.

12 Q. Can you tell me what materials **Mr.**  
13 **Murphy** provided to you in connection with this  
14 matter?

15 A. Well, I had some depositions to  
16 review.

17 MR. MURPHY: I can give you  
18 specifics on that.

19 THE WITNESS: Yes, if you would.

20 MR. YOUNG: Thank you.

21 MR. MURPHY: When I was looking for  
22 the CV, I saw a cover letter. That's a list of  
23 what I sent you.

24 THE WITNESS: All right. Shall I

1 read them?

2 BY MR. YOUNG:

3 Q. Yes, if you would just for the  
4 record.

5 A. The office records from Dr. Brown;  
6 office records from Dr. Parsanko; record from the  
7 Brunswick Primary Care Center; Dr. Nowak, N O W A  
8 K, Dr. Nowak's office records; excerpts from the  
9 Medina General Hospital admission of October 2,  
10 1990; excerpts from the Medina General Hospital  
11 admission of October 17, 1990; a consultation  
12 report from Dr. S., last name Hazra, H A Z R A, a  
13 medical oncologist, dated October 5, 1990; excerpts  
14 from Medina General Hospital admission of November  
15 8, 1990; pathology report from the Cleveland Clinic  
16 Foundation with reference to the pathologist's  
17 slides previously read by Dr. Alonso at Mariemont  
18 Hospital; and a copy of Dr. Bert Brown's deposition  
19 testimony.

20 Q. Okay.

21 MR. MURPHY: Since then, Chuck --

22 MR. YOUNG: Please.

23 MR. MURPHY: -- I did **send** him **Dr.**  
24 Alonso's deposition, but I don't think there's been

1 anything else.

2 THE WITNESS: Yes, he did.

3 BY MR. YOUNG:

4 Q Have you received copies of some of  
5 the experts' reports in this matter?

6 A Yes, I have.

7 Q The report of Dr. Brett, an  
8 oncologist in California?

9 A. Yes.

10 Q The report of Dr. Haine, a  
11 pathologist in Mississippi, Stephen Haine?

12 A I don't recall that.

13 MR. MURPHY: I don't remember.

14 Q Have you received the report of Dr.  
15 Jacob, an ear, nose and throat physician from  
16 Detroit?

17 A. Yes.

18 Q. Are any of these experts known to you  
19 in any manner?

20 A. No.

21 Q Have you receive the report of Dr.  
22 Mendelsohn from Mt. Sinai in Cleveland?

23 A Yes.

24 Q Is Dr. Mendelsohn known to you?



1 A. No.

2 Q. Before you received these materials,  
3 and I assume a copy of this letter will be  
4 contained in your file, correct?

5 A. I assume so, **yes**.

6 Q. Before you received any of these  
7 materials, did you know Pat **Murphy**?

8 A. Yes.

9 Q. How had you known him?

10 A. It must be three or four years ago I  
11 reviewed a case for him. He was representing the  
12 physician, and he asked me to review one for him  
13 and I did.

14 Q. And did you review and prepare a  
15 report in that case?

16 A. Yes.

17 Q. And were **you** called upon to testify?

18 A. Yes.

19 Q. Do you recall the name of the case?

20 A. No, I don't.

21 Q. Do you recall the circumstances  
22 surrounding the case, that being the medical issues  
23 involved?

24 It had to do with a peritectomy in

1 which the facial nerve was injured.

2 Q. Did that matter go to trial?

3 A. Yes.

4 Q. And you testified in the Cleveland  
5 area?

6 A. Yes.

7 Q. Have you reviewed any other case for  
8 Mr. Murphy at any point in time?

9 A. No.

10 Q. Have you had any other contacts with  
11 him other than in that matter?

12 A. No.

13 Q. Have you had any contacts with other  
14 attorneys who are with the Jacobson, Maynard  
15 office?

16 A. I don't know how to answer that.

17 Q. Well, in terms of consultation or  
18 review of cases, have you reviewed cases for any  
19 other attorneys who would actually be employed by  
20 or partners of the Jacobson, Maynard, Tuschman and  
21 Kalur office?

22 A. I don't know how to answer that.

23 Q. Why not?

24 A. I'm not quite sure what you mean by

review and what you mean by employed.

Q. I'm going to get into PIE matters and review for **PIE** at another time, but in terms of review of cases for purposes of preparation of a report and testifying, have you been consulted by any other Jacobson, Maynard attorneys?

A. No.

Q. So essentially in terms of a professional relationship in terms of anticipating testifying, you've only had two occasions where you've worked with the firm?

A. No, only one.

Q. I'm sorry, and this case?

A. Oh, I'm sorry.

Q. so two?

A. Yes.

Q. Now, you've testified as an expert witness I assume on many occasions?

A. Testified or reviewed?

Q. Well, let's use reviewed first of all.

A. Well, not that many. Some.

Q. Can you approximate the number for me over the course of your career?

A. No. Maybe -- well, let's differentiate them. I do see some -- I do do some independent medical examinations on personal injury. This is the majority of the medical/legal things that I see anyway. As far as malpractice is concerned, very, very few.

Q. And would we say fewer than ten in the course of your career?

A. Yes.

Q. And you do work involving independent medical exams; do you do that on behalf of defense counsel here in the Greater Cincinnati area?

A. Defense or plaintiff, either way. Attorneys are looking for a definitive opinion as to an injury somebody sustained secondary to some type of accident or something, and we just do -- I do the examinations, that's all.

Q. And that is an examination that takes place where you're not involved as a treating physician for the patient?

A. That's right, yes.

Q. Generally the bulk of that work would be done by defense counsel, would it not?

A. I have no idea. Because plaintiff's

1 counsel needs the same material.

2 Q. But generally obtains that material  
3 from the treating physician?

4 A. I don't know. I don't ask them, to  
5 be quite frank. I am asked to review, **do** an  
6 independent medical exam, and to give an opinion.  
7 That's my --

8 Q. Are you insured by PIE?

9 MR. MURPHY: Objection.

10 A. Yes.

11 Q. For what period of time have you been  
12 insured by PIE?

13 MR. MURPHY: Let me note a  
14 continuing objection to this.

15 MR. YOUNG: Of course, yeah.

16 A. I don't know to be honest. My  
17 corporation -- my corporation has been insured by  
18 PIE, in all honesty it must be ten years, but I  
19 don't know. I should state though that as of  
20 September I will no longer be insured by PIE.

21 Q. What is the reason for that?

22 A. I'm going under the university's  
23 program. Right now I'm insured by both the  
24 university and PIE, and we continued the PIE but

we're phasing out of it.

2                   Q.     But you've been insured in some  
3 manner by PIE over a period of some years?

4                   A.     Yes.

5                   Q.     Have you ever been involved in the  
6 business of PIE in any manner?

7                   A.     No.

8                   Q.     PIE is a mutual insurance company,  
9 that being the physicians essentially have some  
10 participating interest in the loss ratio of the  
11 carrier. Do you ever receive reports or any  
12 information concerning how that business has done  
13 in the previous **year** or over some period of time?

14                   MR. MURPHY: Objection. **Go** ahead.

15                   A.     If I do, I don't even recall it. I'm  
16 not interested in that.

17                   Q.     Have you ever participated in any way  
18 in the review of claims for PIE, and I should say  
19 of medical matters for PIE?

20                   A.     Well, just in the one previous case  
21 that I mentioned.

22                   Q.     Where you were actually retained in  
23 some manner in anticipation of testifying in  
24 litigation?

1 A. That was the only time.

2 Q. All right. Have you ever been  
3 involved in the analysis of claims within the  
4 insurance organization itself and asked to review  
5 claims in that --

6 A. In PIE?

7 Q. Yes.

8 A. No.

9 Q. In any insurer or business?

10 A. No.

11 Q. Are you acquainted with any **of the**  
12 people involved in the business of PIE?

13 A. No. My chairman, Dr. Jack Kluckman,  
14 I'm not sure if he's on -- do you have a local  
15 board or something, I'm not sure about that.

16 Q. But you yourself have not been  
17 involved in a local board of PIE?

18 A. No.

19 Q. You've not been a board member?

20 A. I've had no dealings with them except  
21 the single case that I did with Mr. Murphy before.

22 Q. Okay. Doctor, you've been asked to  
23 render an opinion concerning Dr. Brown's  
24 participation in the care of Allen Boyd, and I have

1 before us here exhibits that I've marked Dr. Brown  
2 Exhibits 1 through 6 in his deposition. You've had  
3 the opportunity to review his deposition, have you  
4 not?

5 A. Yes.

6 Q. What do you understand the facts to  
7 be with regard to his treatment of Allen Boyd?

8 A. It appears that Mr. Boyd came to see  
9 him for a small lesion around the middle third of  
10 the lateral margin of his tongue on the left. If I  
11 recall, Dr. Boyd felt that this was most likely not  
12 malignant, and did an excisional biopsy of that  
13 lesion in the office. The pathologist reported  
14 that it was not malignant, and Dr. Boyd --

15 MR. MURPHY: Dr. Brown actually.

16 A. I mean, I'm sorry, Dr. Brown, told  
17 the patient to make an appointment and come back in  
18 one week. Dr. Boyd -- excuse me, Dr. Brown also  
19 discussed with Dr. Alonso, the pathologist  
20 apparently that read the slide, as to the diagnosis  
21 of it, and so noted I think on this sheet that's  
22 before us now. As I understand it, the patient did  
23 not come back and went on to develop further  
24 involvement concerning his left cervical neck and



1 so forth.

2 Q. And rather than get into the  
3 specifics of the size of the lesion, the color of  
4 the lesion, and so forth, would it be safe to say  
5 that your information concerning that has been  
6 taken primarily from his office records and from  
7 his deposition?

8 A. As it involves him, yes.

9 Q. Now, I believe that we're not sure if  
10 you've reviewed Dr. Alonso's deposition, is that  
11 correct, or have you reviewed it?

12 A. I received it, but to be quite frank;  
13 I can't recall a lot of the particulars in it.

14 Q. All right. Dr. Brown testified in  
15 his deposition that he performed a differential  
16 diagnosis when Mr. Boyd came into his office  
17 complaining of a sore on the tongue; is that  
18 correct?

19 A Yes

20 Q And performing a differential  
21 diagnosis would certainly be accepted care under  
22 those circumstances, would it not?

23 A Yes

24 Q In performing a differential

1 diagnosis, is it safe to say that the physician  
2 looks and gathers all of the symptoms of which the  
3 patient is complaining initially?

4 A. Yes.

5 Q.

6 then prioritizes the causes or possible diseases  
7 that could cause such symptoms?

8 A. Yes.

9 Q.

10 prioritize those things which could be causing the  
11 symptoms in order to determine which could be most  
12 severe; is that correct?

13 A.

14 based on probability.

15 Q. Do you prioritize them based on  
16 probability of occurrence?

17 A. Occurrence?

18 Q. Yeah, I'm not sure what you mean by  
19 based on probability.

20 A. Well, probability versus  
21 possibility. What would the most probable series  
22 of events that produced not only the symptoms but  
23 by the way the, in this case the tissue looked and  
24 felt, that would be your first differential point.

1 Then you would say, but if it isn't this, and then  
2 you would work your way down, eventually slipping  
3 into the area of possibility, and by then it's sort  
4 of a guesstimate.

5 Q. Perhaps we're saying the same thing.

6 A. In different ways.

7 Q. And it's not an issue, but as I  
8 understand a differential diagnosis from what I've  
9 seen and what I've read, essentially a physician  
10 has to prioritize the disease processes that could  
11 cause symptoms based on seriousness **of** morbidity  
12 and mortality in order to eliminate those things  
13 that may need immediate treatment or that may be  
14 life threatening before he can conclude that it is  
15 a less serious cause; is that fair?

16 A. Well, that's a very wordy  
17 description, but when **you** get down to reality, I  
18 really don't think that's how it really works. A  
19 patient comes in with a set of symptoms. **you**  
20 listen to the symptoms, you ask questions and so  
21 forth. Then you examine the patient. You now have  
22 both ends. What it is that brought the patient in,  
23 what's bothering him and **so** forth, and what you see  
24 or feel. **You** then perhaps order other tests,

1 x-rays, lab, or whatever it's going to be, and then  
2 when you have all this material, you say all of  
3 this probably, versus possibly, probably is caused  
4 by whatever as your number one differential, and  
5 then you don't say it, but you're certainly  
6 thinking that if it isn't that with all this  
7 material indicating whatever, it's number two,  
8 number three, number four.

9 Q. All right. Perhaps we're getting --

10 A. It has nothing to do with morbidity  
11 and mortality unless the patient comes in  
12 critically ill and, of course, we're not talking  
13 about that in this case, but unless the patient  
14 comes in in that way, I don't think anyone would  
15 really realistically think of morbidity and  
16 mortality at that point.

17 Q. Well, Dr. Brown was dealing with a  
18 white plaque lesion here, was he not?

19 A. Apparently, yes.

20 Q. And would we say that that was  
21 leukoplakia?

22 A. I wouldn't.

23 Q. No?

24 A. No. That's a bad word.

1 Q. Why?

2 A. It doesn't say anything. If we were  
3 five physicians sitting in a room and I said  
4 leukoplakia, immediately in five minds comes a  
5 lesion, and if you could believe it, none of them  
6 are the same. It means white plaque, he's  
7 suffering from white plaque. That's a garbage  
8 description. It doesn't say anything, it's just  
9 strictly, what's the word, descriptive.

10 Q. Let's use white plaque then. This  
11 gentleman presented on November 22, 1989 with **what**  
12 we will call a white plaque lesion?

13 A. Okay. I don't even like that either,  
14 but **go** ahead.

15 Q. What would you describe it as?

16 A. Well, you describe the lesion, what  
17 did it **look** like, what did it feel like, you  
18 describe it. And **you** can't code out someone from  
19 the hospital with leukoplakia or white plaque.  
20 There has to be a histological diagnosis.

21 Q. **All** right. Now, I'm just trying to  
22 use a term that Dr. Brown used.

23 A. I know you are, and no, no, I don't  
24 mean to be difficult.

1 Q.

2 A.

3 Society are trying to remove the word leukoplakia  
4 from medical dictionaries because it's so  
5 misleading, that it doesn't say anything, and I  
6 think it confuses many people.

7 Q

8 white plaque lesion? Maybe I can find a term we  
9 can use.

10 f  
11 some type. That's a histological diagnosis, that  
12 one you can code out, but the other word doesn't  
13 have any status

14 Q Okay. Dr. Brown testified, if I can  
15 summarize from his deposition, that essentially he  
16 was dealing with what he termed a white plaque  
17 lesion, and that as part of the differential  
18 diagnosis he had to consider whether it was  
19 cancer. Anytime you're dealing with white plaque  
20 lesion, you have to consider whether it's cancer;  
21 is that fair?

22 A. No.

23 Q. Is that fair on his testimony, is  
24 that his testimony as you understand it to be?

1           A.    Oh, I'm sorry, yes, I see what you  
2 mean, yes.

3           Q.    All right. Now you're saying that  
4 medically that's not true; is that correct?

5           A.    Yes.

6           Q.    Why not?

7           A.    Well, there are many white  
8 hyperkeratotic lesions in the oral cavity that are  
9 not malignant, will never become malignant, and  
10 almost everyone has them. You frequently see them  
11 along the cheek lines as that tissue abuts against  
12 the teeth. Is it due to friction from the mucosal  
13 lining against the teeth? I don't know. It all  
14 depends on its appearance and how it feels and has  
15 it changed in a relatively reasonable length of  
16 time. Patients are sent to us from primary care  
17 people who can't figure out which is which, so they  
18 send them in for us to make that kind of a  
19 judgment.

20          Q.    Certainly you don't question his  
21 judgment in considering cancer in the evaluation of  
22 this lesion, do *you*?

23          A.    No, not at all.

24          Q.    It was a proper thing to do?

1           A.     Yes.   What he did, I thought it was  
2   very appropriate.   That wasn't quite what you asked  
3   me.

4           Q.     I understand.   I'm just trying to  
5   find some terms upon which we can agree to find  
6   where we disagree.

7                   As part of his differential diagnosis  
8   he considered whether this lesion could be caused  
9   by cancer; is that fair?

10          A.     Yes.

11          Q.     And that was the proper thing for him  
12   to consider and a proper thing for him to do?

13          A.     Yes.

14          Q.     Would you agree that based on the  
15   symptoms with which this person presented,  
16   performing a differential diagnosis required that  
17   we eliminate the possibility of cancer before we  
18   concluded that it was a benign lesion?

19          A.     Yes.

20          Q.     All right.   In connection with that  
21   and in order to do that, Dr. Brown did a biopsy  
22   here in his office, correct?

23          A.     Yes.

24          Q.     When presented with the circumstances



1 with which he was presented in his office on that  
2 date, do accepted standards of practice require  
3 that he take a certain type of biopsy?

4 A. What do you mean, type?

5 Q. That he remove the lesion in a given  
6 manner procedurally?

7 A. No.

8 Q. Mechanically?

9 A. No.

10 Q. Okay. Would accepted standards of  
11 practice permit him to do an incisional or an  
12 excisional biopsy?

13 A. Yes.

14 Q. If he does an incisional biopsy, do  
15 accepted standards of practice require that he  
16 administer additional care beyond what would be  
17 required if he had done an excisional?

18 A. I'm sorry, say that again.

19 Q. If he does an incisional biopsy as  
20 opposed to excisional biopsy, would accepted  
21 standards of practice require that he do something  
22 more in the treatment of a patient?

23 A. I'm not sure I understand "more."

24 Q. Well --

1           A.     If he did -- well --

2           Q.     Let me ask it this way, let me  
3 withdraw that and approach it this way. Everything  
4 I've read seems to indicate that the physician in  
5 considering this type of lesion should do an  
6 excisional biopsy.

7           A.     Why?

8           Q.     I don't know. I mean I have **my** own  
9 thoughts **on** it, but I'm not an expert in the area,  
10 **would you** agree or disagree with that?

11          A.     Well, because of the size **of** the  
12 lesion, I think an excisional biopsy would be an  
13 appropriate procedure.

14          Q.     That meaning this was a small lesion?

15          A.     Yes, very small.

16          Q.     And if we're dealing with a very  
17 large lesion, then perhaps incisional biopsy would  
18 be sufficient?

19          A.     Yes.

20          Q.     Certainly it would be appropriate for  
21 him to **do** an excisional biopsy on this lesion?

22          A.     Yes.

23          Q.     But it's your opinion that accepted  
24 standards of care would not require him to do an

1 excisional biopsy; is that correct?

2 A. Well, there are a number of  
3 incisional type biopsies. I mean when you say  
4 incision, I think you're thinking of some kind of a  
5 wedge in which you get part of a tumor and maybe  
6 part adjacent tissue.

7 Q. Right.

8 A. That would be one, but there are  
9 little cookie cutter type biopsies that we do take  
10 because we want some tissue but we want to minimize  
11 the defect created by the biopsy itself. There are  
12 a lot of other ways of doing it, but --

13 Q. All right. When a surgeon is  
14 presented with a large lesion, rather than do a lot  
15 of damage to surrounding tissue, he may take an  
16 incisional biopsy until he determines what's  
17 causing it?

18 A. Right.

19 Q. But when dealing with a lesion that  
20 is small enough that he doesn't create unnecessary  
21 damage to surrounding tissue, accepted standards of  
22 practice would require an excisional biopsy, would  
23 it not?

24 A. The thing in this case, if I can

1 answer it this way.

2 Q. Go ahead.

3 A. That I think would indicate it, that  
4 an excisional biopsy was appropriate really was the  
5 size of the lesion. It was just so simple to do  
6 that this would be the way to do it.

7 Q. Okay.

8 A. It would give you all the tissue  
9 there to send to the pathologist and have them look  
10 at the whole thing and give you some kind of an  
11 answer.

12 Q. All right. Would doing less than  
13 that and taking only a part of the lesion, an  
14 incisional biopsy, taking a wedge from the lesion  
15 have been a breach of accepted standard of practice  
16 for Dr. Brown on November 22nd, 1989?

17 MR. MURPHY: Objection. It's been  
18 asked and answered. Go ahead.

19 A. I would say no, and I will tell you  
20 why. If Dr. Brown really thought that there was a  
21 good probability that this was malignant, he may  
22 well do an incisional biopsy, because if it came  
23 back as he suspected as being malignant, he may  
24 well then plan a bigger, more inclusive type of a

1 procedure, which I think would be very appropriate.

2 Q. In doing an incisional biopsy, is it  
3 possible that the surgeon will find atypical cells  
4 but not actually the cancer cells which would  
5 provide a firm diagnosis of the lesion?

6 A. How will he know they're atypical at  
7 the time of surgery?

8 Q. No, he won't know that at the time of  
9 surgery. I'm saying in performing an incisional  
10 biopsy as opposed to an excisional biopsy, is it  
11 possible that he'll miss the cancer and get some  
12 atypical cells and get a bad reading from the  
13 pathologist?

14 A. I suppose that there is a  
15 possibility, but I have to assume if **he's** going to  
16 take that responsibility to do an incisional  
17 biopsy, he would obviously biopsy it in a most  
18 likely site that would give him as much information  
19 as possible and that he would also include normal  
20 tissue with the suspect tissue so that a clear  
21 junction could be seen between the lesion and then  
22 normal tissue. Pathologists love that kind of a  
23 biopsy.

24 Q. You've concluded that Dr. Brown did

1 an excisional biopsy on November 22nd, 1989,  
2 correct?

3 A. Yes.

4 Q. What facts support your conclusion or  
5 from what material did you draw that conclusion?

6 A. Well, as I recall it, that was what  
7 he said in his office records, and if I recall Dr.  
8 Alonso's path report, I believe she listed it as an  
9 excisional biopsy as well.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 presented with a very small lesion as we have  
2 here --

3 A. Right.

4 Q. His or her efforts are directed to  
5 removing the entire lesion?

6 A. Right.

7 Q. And excising all **of** the diseased  
8 tissue?

9 A. Right.

10 Q I think you testified that it was  
11 proper for Dr. Brown to consider whether this  
12 lesion was cancer, and certainly if it were cancer,  
13 it would be life threatening, would it not?

14 A Yes, I suppose all cancers are life  
15 threatening.

16 Q. Were there **any** other life-threatening  
17 conditions or serious conditions which could have  
18 caused this small lesion which was present on  
19 November 22nd, 1989?

20 A I can't think of any.

21 Q So essentially the far most serious  
22 condition that could have been present was cancer,  
23 and that was the primary thing that had to be ruled  
24 out before we drew a lesser diagnosis, would you

1 agree?

2 A. Yes.

3 Q. Did you have the opportunity to take  
4 a **look** at -- it's a silly question, of course you  
5 did -- Dr. Alonso's written pathology report?

6 A. Yes.

7 Q. Are you able to conclude anything  
8 concerning the type of biopsy which was done,  
9 excisional versus incisional, based upon the  
10 specimen that was received by the pathology  
11 department?

12 A. I'm sorry, say that again.

13 Q. Based on the size -- let me give a  
14 little better background to this. Showing you  
15 what's been marked for identification purposes as  
16 Bert Brown, **MD**, Deposition Exhibit 4, we have the  
17 written pathology report from Dr. Alonso; is that  
18 correct?

19 A. Yes.

20 Q. And Dr. Alonso has a gross  
21 description **of** the specimen that was received by  
22 her, correct?

23 A. Yes.

24 Q. When the surgeon does the surgery,



1 essentially he comes up with an estimate of what  
2 the size of the lesion would be, but the actual  
3 measurement of it is done by the pathologist,  
4 correct?

5 A. No.

6 Q. No?

7 A. No, that has nothing to do with the  
8 lesion. Remember the pathologist just measures the  
9 specimen that they receive. When you do any kind  
10 of a biopsy, the defect is always larger and the  
11 specimen is always smaller. The specimen obviously  
12 contracts after it's been removed, and I am  
13 assuming, and I think justifiably **so**, that the  
14 specimen was placed in formalin, which would even  
15 contract it more. Thirdly, these are silly numbers  
16 when you're dealing in nothing bigger than one  
17 centimeter and then you get down into millimeters.  
18 There are times when the measurement of a lesion is  
19 very appropriate and may even be very 'important,  
20 but otherwise they're just guesstimates, and I will  
21 lay you odds Dr. **Alonso** didn't measure it. She too  
22 did what Dr. Brown probably did, looked at it. I  
23 don't know whether he did measure it or not, but I  
24 would guess he didn't.

1           Q.     I now have 25 questions from that.  
2   Let me see if I can back up. Based on the size of  
3   that lesion, I take it from your testimony that  
4   this lesion was small enough that the measurement  
5   of it was not critical?

6           A.     True.

7           Q.     In terms of probability of survival  
8   and other such things, it didn't make any  
9   difference for this lesion, correct?

10          A.     True.

11          Q.     I think the testimony from Dr. Brown  
12   was that he did not actually measure the specimen,  
13   but, yes, the defect would be larger than the  
14   specimen which was forwarded to pathology.

15          A.     Yes.

16          Q.     And I believe that the testimony is  
17   from Dr. Alonso that she actually measured the  
18   specimen in arriving at the gross description, but  
19   let me ask it in this manner: Is there anything  
20   from the gross description of the specimen which  
21   causes you to question whether an excisional biopsy  
22   was performed by Dr. Brown?

23          A.     I don't know how to answer that. I  
24   didn't see the lesion, I didn't see what he

1 encompassed when he made his incisions and so  
2 forth. I don't know how to -- he said that he did  
3 an excisional biopsy. I am assuming that, and I  
4 think rightfully so, that he, that that was what he  
5 thought he did or attempted to do it and did it.

6 Q. When you say you're assuming that,  
7 rightfully so, what is it that causes you to say  
8 "rightfully so"? I can understand you accepting  
9 his testimony and drawing your conclusion based on  
10 that. Is there anything other than his testimony  
11 that supports the conclusion that this was an  
12 excisional biopsy?

13 A. Well, it's what he said he intended  
14 to do. And 35 years of experience indicates to me,  
15 and I have no reason to doubt Dr. Brown, if he said  
16 I'm going to do an excisional biopsy, he did what  
17 he said he did. I'm sorry, I just have to assume  
18 that. I think we all have to assume it since none  
19 of us were there.

20 Q. Well, if we go to Dr. Alonso's  
21 deposition, I'm looking at page 67 of the  
22 deposition, she disagreed that an excisional biopsy  
23 had been performed, and it was her conclusion that  
24 an incisional biopsy had been performed based on

1 the nature of the tissue that she received, the  
2 size, the fact that there were two pieces, and what  
3 she was able to see through the microscope. Do you  
4 recall reading that testimony, first of all?

5 A. I do recall, yes, parts of that.

6 Q. Do you question her conclusion based  
7 upon her observations that this was an incisional  
8 biopsy?

9 A. Of course.

10 Q. Do you question it based only on Dr.  
11 Brown's conclusion that it was an excisional  
12 biopsy?

13 A. No. How could we possibly put any  
14 credence in anything she says. One, she was not  
15 there to see the lesion preoperatively. Two, she  
16 did not see what was removed at the time that it  
17 was removed. The smaller piece of tissue may well  
18 have been another rim, another piece of margin that  
19 Dr. Brown felt ought to be included. There's no  
20 mysteries to this. You're making all of this as  
21 though it was the first time one was ever done. If  
22 he said he thought he did and that was what he  
23 intended to do, an excisional biopsy, I don't see  
24 where there's any problem with that, and certainly,

1 certainly I would never expect a pathologist to  
2 tell you what kind of an operation you did.

3 Q. The only part I object to is I'm not  
4 drawing the conclusion, I'm just trying to  
5 understand the testimony of the two physicians.

6 A. I mean you, it was as though I was  
7 saying Dr. Alonso. We have in our pathology  
8 department, they will make a comment that if I see  
9 it's an excisional biopsy, they can't question it  
10 even if the lesion ran to the margin. It didn't  
11 make any difference because I thought I had excised  
12 all of it, and if it went to the margin, which I  
13 could neither see nor feel and didn't know, it  
14 didn't mean I did an incisional biopsy and left  
15 tumor behind.

16 Q. If I can paraphrase Dr. Alonso's  
17 testimony, she said on deposition that she had two  
18 very small superficial pieces of tissue and that  
19 due to the superficial nature of the tissue, she  
20 wasn't even able to identify the surgical margins.  
21 Does that make sense to you?

22 A. Well, she also said things on this  
23 paper that weren't necessarily correct.

24 Q. This paper meaning Exhibit 4, her

1 pathology report?

2 A. I'm sorry, yes. So I'm not --  
3 forgive me if I don't wildly accept -- I don't know  
4 how she would make that kind of an assumption since  
5 she was neither there nor did the procedure  
6 herself. That's a strange view for a pathologist.  
7 I don't think I've ever heard one say that.

8 Q. Well, she said on deposition that she  
9 wasn't there and she didn't know what he did, but  
10 based on the superficial nature of the biopsy, it  
11 was difficult to read and difficult to identify the  
12 margins. She could not tell if there were clear  
13 margins because she couldn't identify what the  
14 margins were. Does that make sense to you when you  
15 take a look at the pathology report and the  
16 depositions involved here?

17 A. Well, the pathology report probably  
18 is one of the most confusing reports that I've ever  
19 read. It looks almost, forgive me, legal. It's  
20 full of terms and expressions. She has larded it  
21 with all these terms, and I would never accept a  
22 pathology report of this type.

23 Q. I'm going to get back to that, we'll  
24 get into the pathology report and what that is, but

1 in terms of her ability to question the type of  
2 lesion based on the specimen received, you question  
3 that; is that fair?

4 A. I think she should be able to come up  
5 with a histological diagnosis based on the tissue  
6 she received, and this is backed by further  
7 pathogenic examination **of** the same slide in which a  
8 definitive diagnosis was made. Why couldn't she **do**  
9 it if somebody else could or several people could.

10 Q. That's a good point. I mean, we're  
11 -- pathologists are not always able to come up  
12 with histologic diagnoses based on reading the  
13 slide, are they?

14 A. What pathologists do, they report  
15 what they see **on** the slide. Now this may be  
16 supported by some previous history or something  
17 like that, but they report what they see on the  
18 slide, and then based on what they see, they make a  
19 diagnosis.

20 Q. **All** right. But there are occasions  
21 when as a result of one circumstance or another,  
22 what they see on the slide does not enable them to  
23 reach a diagnosis; would you agree with that?

24 A. Only if I'm allowed to qualify it.

1 Q. How?

2 A. If they can't make a diagnosis based  
3 on what they see on the slide, then they are  
4 obliged to say I don't know, I can't make a  
5 diagnosis on this, I need more tissue or I would  
6 like someone else to review it with me and so  
7 forth. I can't recall a time, and I suppose it has  
8 happened even in my own career, but I can't recall  
9 it, where a pathologist where it says diagnosis and  
10 he says none or I can't make it or something, and I  
11 suppose there are times when there is insufficient  
12 tissue, but this doesn't seem to be the case here.

13 Q. Why do you say that?

14 A. Because she doesn't say it.

15 Q. All right.

16 A. She doesn't say I can't make a  
17 diagnosis. She babbles under that particular area  
18 on the bottom with all the words that they have  
19 usually in the head and neck area, but I don't  
20 necessarily see a firm diagnosis. I don't see her  
21 saying, I'm sorry, I didn't have enough tissue and  
22 I can't make a diagnosis. That would be a  
23 perfectly legitimate thing to say.

24 Q. All right. If a pathologist receives



1 a tissue specimen, examines it microscopically and  
2 is unable to reach a diagnosis, is it a breach of  
3 accepted standard of care to fail to say that in  
4 the written report?

5 MR. HUPP: Objection.

6 MR. MURPHY: Let me note an  
7 objection. If you're asking if he's qualified,  
8 fine. If you're asking for pathologists --

9 MR. YOUNG: No, I'm asking him as a  
10 surgeon whether in his opinion it's a breach of  
11 accepted standard of care.

12 THE WITNESS: Please again.

13 MR. YOUNG: Would you please read  
14 that back.

15 (The record was read back by the court reporter.)

16 THE WITNESS: Yes.

17 BY MR. YOUNG:

18 Q Maybe I should go on with those  
19 things on which we can agree and then go out from  
20 there. Essentially when Dr. Brown testified on  
21 deposition, he said he was performing a  
22 differential diagnosis of this lesion, and so he  
23 biopsied it to eliminate or rule out the  
24 possibility of cancer. Are you aware of that

1 testimony?

2 A. Yes.

3 Q. And he testified that based on this  
4 written pathology report, he was able to rule out  
5 the possibility of cancer; do you recall that  
6 testimony?

7 A. Yes.

8 Q. Do you agree that based on the  
9 written pathology report, it was proper for Dr.  
10 Brown to rule out cancer as a cause for this  
11 lesion?

12 A. Yes.

13 Q. what is it about this pathology  
14 report that permitted him to do that?

15 A. Because Dr. Alonso did not make a  
16 diagnosis of cancer, either in situ, which would be  
17 a very, very early lesion, or anything invasive.

18 Q. All right. And is it your testimony  
19 that if those magic words are not contained within  
20 the pathology report, that the surgeon can properly  
21 rule out cancer?

22 A. I object to your using the term  
23 "magic words."

24 Q. Okay.

1           A.     We're not playing marbles here. This  
2 is important, and if she in any way suspected a  
3 neoplastic process, she is obliged to say it in her  
4 phone call and on her written report, to tell him I  
5 don't know, but it looks and all these kind of  
6 things, and I think we should have more tissue or  
7 whatever.

8           Q.     Let me carry that one step further  
9 and say, without going into the definition of all  
10 of those things that can be precancerous and going  
11 into that, in your opinion **could** Dr. Brown rule out  
12 the possibility that this was a precancerous lesion  
13 based on the written pathology report?

14          A.     Yes. Don't --

15          Q.     What is it about the report that  
16 permits him **to** do that?

17          A.     No, your question of precancer, don't  
18 hang on to that because there really isn't  
19 precancerous -- you can read some of the works from  
20 Washington University of McCavern and Bauers in  
21 which they indicated very clearly that what we used  
22 to think was precancerous isn't and that patients  
23 who were followed for long periods of time with  
24 what were thought to be hyperkeratoses that turned

1 to cancer didn't, and the concept was that many  
2 people were treated on the precancerous theory that  
3 never would have gotten it anyway.

4 Q. What would the dates of those studies  
5 be?

6 A. Back in the '60's. Beautiful papers,  
7 changed the whole way we handle things.

8 Q. What's the relevance of the  
9 observation in the microscopic description of  
10 dyskeratosis?

11 A. Cells that are shaped differently  
12 than others. Not as mature.

13 Q. And does the presence of dyskeratosis  
14 indicate that we may have a premalignant lesion?

15 A. Not necessarily, no.

16 Q. I'm not asking if it necessarily  
17 does, does it possibly indicate that the lesion  
18 could be precancerous?

19 A. Any lesion can be -- I don't know  
20 what you mean by precancerous. You mean there is a  
21 series of events that are set in place and that are  
22 ultimately and eventually going to proceed to  
23 cancer?

24 Q. No, I don't mean ultimately and

1 definitely, I mean that good practice would require  
2 a surgeon to keep an eye on dyskeratosis or a  
3 lesion which is microscopically read as containing  
4 dyskeratosis to make sure it doesn't become  
5 malignant.

6 A

7 Alonso so packed this relatively short report with  
8 so many different things and she doesn't in her  
9 final diagnosis even mention dyskeratosis.

10 Q

11 written pathology report is so confusing as to --  
12 withdraw that

13

1

14 have before us prepared by Dr. Alonso, does it  
15 contain a diagnosis?

16 A. Yes.

17 Q. What was the diagnosis?

18 A. Moderate papillary hyperplasia with  
19 hyperkeratosis, focal mild atypia and chronic  
20 inflammation from tongue.

21 Q. Does that diagnosis mean anything to  
22 you?

23 A. Yeah.

24 Q. Does it appear to be a proper

1 diagnosis of a benign condition?

2 A. Yes. The term chronic inflammation,  
3 meaning an inflammatory process that is not acute  
4 but has been going on for some time, might account  
5 for all the other words that she used.

6 Q. Are there ever occasions when a  
7 surgeon should continue to follow a benign lesion  
8 believing that in fact it could warn of coming  
9 cancer?

10 A. In the oral cavity I can't think of  
11 any.

12 Q. Okay. I mean I've read a lot of  
13 studies which deal with dyskeratosis being  
14 premalignant and certain areas of the oral cavity  
15 where white plaque lesions could be premalignant.  
16 Do you disagree with those conclusions?

17 A. Yes, I do.

18 Q. Here's an old study, if I **look** at the  
19 Clinical Symposia done in 1973.

20 A. You know who that is?

21 Q. Who is that?

22 A. Oh, I'm sorry, I thought it was Frank  
23 Letter's drawings. He has marvelous drawings.

24 Q. I've been looking at your drawings in

1 your Color Atlas of Oral Diseases here. I look at  
2 the authors in dealing with white plaque --

3 MR. MURPHY: Can you identify that.

4 Q. Sure, Clinical Symposia, White  
5 Lesions of the Mouth, 25th Anniversary Issue of  
6 Clinical Symposia published by CIBA. They're  
7 classifying white plaque lesions in the oral  
8 cavity, and they conclude that in the dyskeratotic  
9 leukoplakia, and that's a term that Dr. Shumrick  
10 doesn't like to use but they've defined it here,  
11 and they also are concerned with being improper use  
12 of --

13 A. Are they, do they really say that?

14 Q. Yes. They define it and they say  
15 that there's not generally accepted use of the  
16 term.

17 A. Good.

18 Q. But they talk about focal keratosis,  
19 they talk about dyskeratosis, and hyperkeratosis.  
20 And they define dyskeratotic leukoplakia or  
21 histologic leukoplakia, synonym hyperkeratosis  
22 complex or dysplastic leukoplakia, they say these  
23 lesions, which also do not rub off easily, have  
24 dyskeratotic changes in some of the epithelium but

1 do not involve all epithelial strata, as does  
2 carcinoma in situ. Dyskeratotic leukoplakia should  
3 be considered a premalignant lesion. And they talk  
4 about the incidence of cancer in males and so  
5 forth. You disagree with that conclusion?

6 A. Sure. May I see it?

7 Q. Yes. And I don't cite that as an  
8 authoritative source necessarily, I simply use it  
9 for my own guidance.

10 A. I understand. As I say, I've never  
11 heard of any of these people, but that doesn't mean  
12 anything.

13 Q. I took the liberty of browsing  
14 through your library when I was standing here  
15 waiting for the deposition to start, and I find the  
16 Color Atlas of Oral Diseases by Crispian Scully and  
17 Stephen Flint. Is this something that's used in  
18 the training here at the university?

19 A. It's a reference source. We like the  
20 pictures.

21 Q. Some great pictures. And when you  
22 look at oral lesions, they always come up with  
23 photographs of the largest and most frightening  
24 lesion as examples, but if I look at pages 142 and



1 143, we're talking about keratosis. What is  
2 keratosis for the record, Doctor?

3 A. It's a characterization **of** either  
4 skin or mucosa, a thickening of it.

5 May I bring up another issue?

6 Q. Yes, go ahead.

7 A. Here we have a picture of a  
8 dyskeratosis conjunctiva. Now, do we think we  
9 should follow this for cancer? This is a child.

10 Q. Should we?

11 A. No, I don't think so.

12 Q. Are there any dyskeratosis in adults  
13 **or** otherwise that we should follow to see if it is  
14 premalignant?

15 A. I really cannot accept the term  
16 premalignant. It's a convenient way to do it, and  
17 on some of these lesions you would see in something  
18 like this or even in there, what they will do **is**  
19 they will -- I give lectures to the medical  
20 students about this very issue -- you will biopsy **a**  
21 lesion and it may be hyperkeratosis and you will  
22 biopsy another part of the same lesion and it's  
23 squamous carcinoma. Therefore, hyperkeratosis,  
24 which is benign, became squamous carcinoma, and

1 there's absolutely no proof to that proven  
2 anywhere, that's just not true. Because they are  
3 next **to** each other, even contiguous to each other,  
4 it doesn't mean one converted to the other.

5 Q. I remember taking a deposition in  
6 1975, and the doctor saying to me benign doesn't  
7 change to malignant, a benign lesion does not  
8 change to malignant. Do you agree with that? Are  
9 there benign lesions of the oral cavity which have  
10 a tendency to become malignant?

11 A. Yes.

12 Q. Are there benign --

13 A. See, his statement was too inclusive.

14 Q. Okay. What benign conditions of the  
15 oral cavity could tend to become squamous cell  
16 carcinoma?

17 A. That I don't know. I cannot relate  
18 those two.

19 Q. Doctor, again I'm referring to the  
20 Color Atlas of Oral Diseases by Crispian Scully and  
21 Stephen Flint, do you know who these gentlemen are,  
22 Scully and Flint?

23 A. No. I know who Crispian is.

24 Q. Oh, that's four people as opposed to

1 two people, Crispian, Scully, Stephen, and Flint?

2 A. Yeah.

3 Q.

4 Kingdom and them practicing there. I look at page  
5 143 of this atlas and they refer to figure 9.248,  
6 with the language immediately beside it, keratosis  
7 of the ventrum of the tongue and floor of the mouth  
8 has a higher premalignant potential than similar  
9 lesions elsewhere. Would you agree or disagree  
10 with that statement?

11 A.

1

12 say that. If you're saying it is more commonly  
13 associated, that doesn't mean that there was a  
14 conversion from the benign process to a malignant  
15 one.

16 Q. All right, that's fine. You've  
17 indicated in your testimony that simply because you  
18 find two conditions together, that doesn't mean  
19 that one arose from the other?

20 A. I agree with that completely.

21 Q. But if you find keratosis or  
22 dyskeratosis of the oral cavity, is there a higher  
23 incidence of malignancy in certain circumstances  
24 where you find that in certain areas?

1           A.     I don't know, I can't answer that.

2           Q.     So that in your practice you would  
3 not be more prone to continue to observe one  
4 patient over another if they have a benign reading  
5 on the pathology report simply because of the  
6 observation **of** keratosis or dyskeratosis; is that  
7 fair?

8                     THE WITNESS:   Read it again,  
9 please.

10   (The record was read back by the court reporter.)

11           A.     I don't know.  You're asking me  
12 things that are very difficult to answer because  
13 they're all words, and we're not looking at a  
14 particular lesion.  I can't feel it, I can't see  
15 it, I can't give you an opinion based on experience  
16 or based on the things that I would use to make a  
17 diagnosis.  That's just not a fair question.

18           Q.     Let me withdraw that.

19           A.     What you're saying is all  
20 theoretical, and I don't know how to respond to  
21 those.

22           Q.     Let me withdraw that and ask it in  
23 this way.  In your practice are there conditions of  
24 the oral cavity, benign diagnoses of keratosis or

1 dyskeratosis which you continue to follow on a  
2 regular basis to determine whether the patient will  
3 develop oral cancer?

4 A. No.

5 Q. All right. Would it be your practice  
6 to eliminate those condit ons rather than to  
7 continue to follow them?

8 A. Yes.

9 Q. I thought that's what I got from your  
10 answer. In other words, if you see keratosis or  
11 dyskeratosis and that type of lesion, even though  
12 you get a benign pathology report, in your practice  
13 you would eliminate the condition rather than  
14 follow it?

15 A. Yes.

16 MR. MURPHY: What do you mean by  
17 eliminating the condition?

18 MR. YOUNG: Cut it out.

19 THE WITNESS: I think that's what  
20 Dr. Brown thought he did.

21 BY MR. YOUNG:

22 Q. Okay. Going on in your report to Mr.  
23 Murphy, you conclude that based upon the pathology  
24 report, Dr. Brown's management was appropriate in

1 this case, correct?

2 A. Yes.

3 Q. You're aware of the fact that Dr.  
4 Brown had a telephone conversation with Dr. Alonso  
5 at the time that she was trying to prepare a  
6 diagnosis and a written report in this case,  
7 correct?

8 A. Yes.

9 Q. What is your understanding of the  
10 conversation that took place?

11 A. That, I'm paraphrasing, but that she  
12 told him that it was a benign lesion.

13 Q. And that their telephone conversation  
14 was essentially consistent with the written report,  
15 that was Dr. Brown's testimony, correct?

16 A. Yes.

17 Q. Are you aware of the fact that Dr.  
18 Alonso said that she called Dr. Brown because she  
19 said she had some atypia on the specimen and she  
20 couldn't identify the cause?

21 A. The cause?

22 Q. The cause of the atypia.

23 A. I'm afraid I don't understand how she  
24 would ever hope to determine the cause of an

1 atypia, I mean there's nothing in the slide that's  
2 going to cause some other part of the slide to be  
3 atypical.

4 Q. Well, Dr. Brown thought initially  
5 when he took this biopsy that he was dealing with a  
6 Candida?

7 A. Yes.

8 Q. And Candida could cause this type of  
9 lesion, could it not?

10 A. It's possible, yes.

11 Q. And if it did, there would be some  
12 viral elements contained in the specimen and **on** the  
13 slide, correct?

14 A. No, not necessarily relating to  
15 Candida.

16 Q. **All** right. Dr. Alonso testified that  
17 based on her microscopic examination of this slide,  
18 she was able to eliminate Candida as a possibility  
19 for the lesion; are you aware of that?

20 A. Yes.

21 Q. **Do** you agree that she could do 'chat?

22 A. Yes.

23 Q. And she described atypia, cells that  
24 were not normal but not one specific cause for the

1 condition; are you aware of her testimony to that?

2           A       Yes, but she --

3                   MR. MURPHY:   What page are you on?

4           Q       I'm at 106 right now, but I'm going  
5 to be flipping around here and I will identify it  
6 for the record.

7           A       She -- I'm confused.

8           Q       Okay.

9           A       How do I put this.

10                   MR. MURPHY:   I think the only  
11 question is are you aware of certain testimony of  
12 hers.   I think that was the question.

13           O       That is the question at this time,  
14 and then we'll go on from there.

15           A       The thing that I'm having trouble  
16 with **is** you're saying she can't identify the cause,  
17 how could she possibly identify the cause of a  
18 cellular change in Mr. Boyd's tongue?

19           Q       Let me go at it in this manner,  
20 looking at page 105 of her testimony, I asked the  
21 question, and my question is:  "Did you find in  
22 your interpretation of these slides that they were  
23 highly suspicious for well-differentiated squamous  
24 cell carcinoma?"   The answer was:  "I'm not saying



1 highly suspicious. Like I said before, they are  
2 suspicious." "They are suspicious for squamous  
3 cell carcinoma?" Answer, "And other things." "Did  
4 your report alert, and by that I mean your written  
5 report, did your written report alert Dr. Brown  
6 that these slides were highly suspicious, or in  
7 your words suspicious, for squamous cell  
8 carcinoma?" The answer was: "It alerted him of  
9 diseases but not specifically one disease. **So** it  
10 should alert him to follow up the diseases."

11 A. That's garbage.

12 Q. Why?

13 A. Because she's not saying anything,  
14 she's trying **to** cover her own tail. She doesn't  
15 say anything in here about being suspicious,  
16 concerned, couldn't help, would wonder about  
17 squamous cell carcinoma. She says nothing.

18 Q. Do you conclude from the fact she  
19 doesn't set forth any question here in the written  
20 report, do you question the testimony that she did  
21 so in a telephone call?

22 A. How could I? I didn't hear the  
23 telephone call.

24 Q. That's my point. She testified --

1           A.     But here she's under oath saying this  
2 and that and so forth. That I do object to. I  
3 didn't hear the phone call, but her saying there  
4 that -- whatever you said up there on the top, up  
5 in here somewhere.

6           Q.     I'm going to refer to a -- I'll get  
7 to specific references, Doctor, and we'll talk  
8 about that, but to paraphrase Dr. Alonso's  
9 testimony, she said essentially that she was having  
10 a difficult time making a diagnosis, that she saw  
11 atypia that was consistent with many disease  
12 processes and so she called Dr. Brown to alert him  
13 to that and to the need to either completely cut  
14 this condition out or to follow it closely. Are  
15 you aware of that testimony; you've had the  
16 opportunity to read it?

17          A.     Yes. I assumed she called him to  
18 give him a quick report because the written report  
19 would take time to be typed up and sent out and so  
20 forth, which is a courtesy pathologists frequently  
21 do.

22          Q.     Both Dr. Brown and Dr. Alonso  
23 testified that really those telephone calls only  
24 took place not to give benign reports but whether

1 there was need for further information or immediate  
2 response or some other such issue.

3 MR. MURPHY: Objection.

4 MR. HUPP: Objection.

5 Q. Let me ask it this way: You've  
6 concluded that Dr. Brown was appropriate in the  
7 management of this case, correct?

8 A. Yes.

9 Q. If Dr. Alonso called him and told him  
10 that she was having difficulty with the diagnosis  
11 and that he would have to closely follow it, that  
12 being the condition, or cut these disease cells  
13 out, would his management in the manner in which it  
14 was managed have been appropriate?

15 MR. HUPP: Objection.

16 MR. MURPHY: Objection to the  
17 hypothetical.

18 A. No.

19 Q. If Dr. Alonso alerted Dr. Brown to a  
20 suspicious condition, then it would have been his  
21 duty to go back and fully excise the abnormal cells  
22 or to closely monitor them; would you agree with  
23 that?

24 MR. MURPHY: Objection.

1           A.     It would depend on what you mean by  
2 suspicious, whatever you said.

3           Q.     Suspicious lesion.

4           A.     Lesion.

5           Q.     In the differential diagnosis of an  
6 oral lesion where you don't have direct evidence of  
7 cancer in the specimen, it is possible still that  
8 cancer is causing the problem, is it not?

9           A.     Yes.

10          Q.     And that you will find on the  
11 specimen or in the slides atypical cells and an  
12 inflammatory process and yet not have gotten deep  
13 enough in the biopsy to obtain the actual cancer  
14 cells that cause that inflammatory process,  
15 correct?

16          A.     Wrong.

17          Q.     How so, how is it wrong?

18          A.     Squamous cell carcinoma, and this is  
19 what we're worried about or what we should be I  
20 think discussing, is a surface phenomenon. It  
21 starts on the surface. There has to be a break in  
22 the surface. This is not something -- yes, this  
23 is --

24          Q.     There must be an induration of some

1 sort?

2           A. No, a break, a frank break in the  
3 surface. That's what causes the pain. Squamous  
4 cell carcinoma are exquisitely tender in the  
5 tongue, and it has to be a break, and the  
6 tenderness comes from the fact that you now have a  
7 break in the mucosa and all the contaminants of the  
8 oral cavity flood in, fungal, bacterial, viral,  
9 whatever is floating around in there, and mucosa  
10 will never go up and cover and bury a tumor, a  
11 squamous cell. Now, it's not a matter of not  
12 getting deep enough; it should be right on the  
13 surface.

14           If Dr. Alonso said, well, there's an  
15 in situ lesion, which means that there is a  
16 neoplastic process that does not go below the  
17 basement membrane, well, then Dr. Brown has all  
18 kinds of things he should be thinking and doing and  
19 so forth. But she didn't say that. She didn't  
20 tell him that. It wasn't a matter of not getting  
21 deep enough because this was a superficial problem  
22 as we well know later, squamous cell all the way  
23 through. It wasn't something -- what's the word I  
24 want, adenoid cystic or something that it was of a

1 glandular. There's no break in the mucosa in those  
2 cases. So it's not a matter of getting deep  
3 enough. She should have been able to pick it up  
4 right up on the top, at least something.

5 Q. It is possible to biopsy a lesion and  
6 to get evidence of the inflammatory process and the  
7 fungus and so forth that comes from that frank  
8 break in the skin and yet not biopsy the cancer  
9 cells, is it not?

10 A. Absolutely.

11 Q. All right. And where you have  
12 evidence of that type of a biopsy, there is a **duty**  
13 to go back and excise further tissue to determine  
14 what is causing that, is there not?

15 A. No, not necessarily. Look, one of  
16 the most difficult things we have to do is to  
17 rebiopsy something that's already been biopsied.  
18 Our colleagues who refer patients to us, we plead  
19 with them if you think it may be malignant, **send**  
20 them and don't biopsy it because, as you say, when  
21 **you** go back in the second time, you get acute and  
22 chronic inflammation and all the words the  
23 pathologists love to use, but there's no mention of  
24 cancer, even though you know it's cancer by the

1 first guy's biopsy. Now you know it's malignant,  
2 but you can't get a positive diagnosis, so what we  
3 usually do is put them on antibiotics and leave  
4 them alone for two, three, four weeks to clean it  
5 up. The tumor won't go away, but the inflammation  
6 and **so** forth will.

7 Now, he had no indication there was  
8 malignancy. We're talking about something that is  
9 a centimeter or less. You know, we're not talking  
10 about something, some big bulk of a thing we see  
11 and somehow miss getting the right cells. We  
12 didn't hear anything about what the right cells  
13 were one way or the other.

14 Q. I can **go** through Dr. Alonso's  
15 deposition and I can draw out specific reference,  
16 and I don't believe that is necessary. Dr. Alonso  
17 did testify that based on the written report alone  
18 Dr. Brown should have followed this condition or  
19 more fully excised the diseased cells. Do you  
20 agree or disagree with that?

21 A. Which part?

22 Q. With Dr. Brown's obligation based on  
23 his written report.

24 A. One, I think he thought he had since

1 it's so small, that he had removed the diseased  
2 cells. She couldn't come up with a diagnosis.  
3 That isn't his fault. Secondly, as I recall it, he  
4 did tell the patient to come back. Make an  
5 appointment within a week.

6 Q. We'll get to that, that's a different  
7 issue.

8 A. No, it isn't. You just said he  
9 should have followed it. He **is** following it, he  
10 said come back within a week.

11 Q. So that I have a direct answer to the  
12 testimony given by Dr. Alonso, she testified  
13 essentially that she contacted Dr. Brown to alert  
14 him to the need for further care in this case by  
15 the surgeon. Do you question the validity of that  
16 testimony?

17 MR. HUPP: Objection.

18 A. I don't know how to answer that. I  
19 didn't hear her saying it, nor did I hear the  
20 telephone conversation. Forgive me if I am  
21 somewhat suspect of Dr. Alonso's motives, but that  
22 does creep into the thinking a little bit. I don't  
23 know. I wish she would have said that in her  
24 written report, which would have been a real



1 document, not just a hearsay thing, and I would  
2 suspect that she would say it. I would like more  
3 tissue. She did say my findings are very  
4 suggestive of a viral infection. Well, why mislead  
5 the poor surgeon with words and talk like that if  
6 that wasn't what you meant or thought.

7 Q. If she does in fact contact him to  
8 alert him to the need for further care, it would  
9 have been his duty to follow up and to manage the  
10 case in that manner, would it not?

11 A. And that's what he did.

12 Q. Now, the last line or the third part  
13 of your report says essentially that Dr. Brown told  
14 Dr. Boyd to follow up, correct?

15 A. Yes.

16 Q. Do you know how that follow-up  
17 instruction was given?

18 A. I assume verbally.

19 Q. By whom?

20 A. Dr. Boyd.

21 Q. Dr. Brown?

22 A. I'm sorry.

23 Q. That's all right.

24 A. I keep doing that.

1 Q. I do that as well.

2 A. Dr. Brown, I'm assuming, I have to  
3 assume said to him, I would like to see you in a  
4 week.

5 Q. You assume that based on the fact  
6 that written in his chart is the information?

7 MR. MURPHY: It says follow-up one  
8 week.

9 Q. FU one week, and *you* assume that  
10 instruction was given to Allen Boyd, correct?

11 A. Yes.

12 Q. Now, would it be a breach of accepted  
13 standards of care not to follow up in this case, by  
14 that I mean for Dr. Brown not to tell the patient  
15 to come in in some period of time?

16 A. Well, yes. He just removed some  
17 tissue, he has to have the patient come in after he  
18 had the path report to see how it was healing and  
19 so forth.

20 Q. Accepted standards of practice would  
21 require Dr. Brown or someone on his behalf to,  
22 number one, communicate as to the result of the  
23 pathology report, correct?

24 A. Yes.

1 Q. Two, to follow up on this defect,  
2 this surgical area, correct?

3 A. Yes.

4 Q. To see that it was healing?

5 A. (Nodding head.)

6 Q. Would accepted standards of practice  
7 **also** have required him under the circumstances with  
8 which you're familiar to have monitored the  
9 condition with any concern for cancer after having  
10 received the pathology report?

11 A. I'll go along with everything but the  
12 last part. **No**, I think Dr. Alonso ruled that out.

13 Q. So the need for follow-up was that,  
14 number one, you have to **tell** the patient that **it's**  
15 benign and, number two, you have to see that the  
16 surgical area is healing?

17 A. Right. And a week is an appropriate  
18 time. You should have the report back by then and  
19 you also should have essentially complete healing  
20 within **a** week.

21 Q. And when that patient follows up and  
22 comes in one week, if Allen Boyd had followed up,  
23 essentially the standard of care would have  
24 required Dr. Brown or part of his office staff to

1 say it's benign, don't worry about it?

2 A. I don't think the office staff would  
3 do that, I think Dr. Brown would do it.

4 Q. Would have required Dr. Brown to say  
5 it's benign?

6 A. Yeah.

7 Q. And it's healing very well. Would  
8 any further follow-up have been required?

9 A. **No**, not necessarily. I would say to  
10 the patient, if you're asking me how I would handle  
11 it, if you have any changes or if there are any  
12 other problems that arise, then come back and see  
13 me.

14 Q. **To** your knowledge, was the result of  
15 the pathology report ever communicated to Allen  
16 Boyd?

17 A. I don't know.

18 Q. In your practice would it be unusual  
19 for a person to have a biopsy and be told that the  
20 biopsy is being taken to make sure it isn't cancer  
21 and then to go away and not come back, being  
22 unconcerned about whether it was malignant?

23 A. Very unusual. In fact, patients will  
24 call the next day or two days later, do you have a

1 report, even though they have an appointment for  
2 one week, and I personally, and I think most  
3 physicians do not like to give the results of  
4 biopsies on the phone. I would rather have the  
5 patient come in. I do this all the time. I'll  
6 tell relatives who are waiting that we do a lot of  
7 outpatient surgery and so forth, parents who are  
8 going to take the patient home, I would like to **see**  
9 them on Tuesday, you call and make an appointment  
10 that's convenient for you timewise, but, and here's  
11 what *you* do and so forth, and that would be to me  
12 very normal.

13 Q. Okay. This probably isn't an issue  
14 and **so** let me ask it in this way: The follow-up by  
15 Allen Boyd and his failure to appear in the office  
16 did not change the way in which Dr. Brown would  
17 have managed this case, did it?

18 MR. MURPHY: Objection.

19 Q. If he practiced in accordance with  
20 the accepted standards of care, I mean Dr. Brown  
21 would have told him it's benign, it's healing, and  
22 you don't need to come back?

23 MR. MURPHY: I'm going to object.

24 You don't know what Dr. Brown would have seen had

1 the patient come back, that's the basis of my  
2 objection.

3 A. I'm sorry.

4 Q. Let me withdraw it and ask this. In  
5 your opinion did the failure -- did Allen Boyd's  
6 failure to reappear in Dr. Brown's office in one  
7 week affect the care that was given, have an  
8 effect?

9 A. I don't know. I don't know how to  
10 answer that. I do truly believe there is a certain  
11 patient responsibility, unless the patient is  
12 obviously unable to comprehend simple instructions.  
13 To hypothetically pretend he did come back and then  
14 pretend Dr. Brown saw something or didn't or felt  
15 something, I mean would he have had him to come  
16 back another time, I mean --

17 Q. Let me ask it this way. Dr. Brown  
18 had a duty to advise Allen Boyd to come back  
19 because he had to tell him about the pathology  
20 result?

21 A. Right.

22 Q. And he had to look at the surgical  
23 wound?

24 A. Right.

1           Q.    Not because he had to be any further  
2 concerned with cancer, he had a benign report from  
3 Dr. **Alonso**, correct?

4           A.    Yes.

5           Q.    We know that Dr. Brown excised this  
6 lesion, not because it was his standard practice  
7 based on what he saw, but because of the anxiety of  
8 Allen **Boyd**, correct? You saw that in the  
9 deposition?

10          A.    Well, I would like to think that he  
11 felt, you know, that --

12          Q.    It wasn't a breach of the standard of  
13 care, it was good practice?

14          A.    That's what I meant.

15          Q.    But the reason he did it rather than  
16 follow it is because this man was so concerned with  
17 the possibility that it was cancer?

18          A.    And then strange that he didn't come  
19 back.

20          Q.    That is strange. Suzanne Boyd  
21 testified that Allen received the pathology results  
22 by telephone from someone in Dr. Brown's office.  
23 **Would** the communication of the results in that  
24 manner without further follow-up have been a breach

of the accepted standard of care?

2 MR. MURPHY: Objection to  
3 hypothetical. Go ahead and answer.

4 A. I don't know. That's -- when they  
5 get that hypothetical, it's very difficult to  
6 answer.

7 MR. YOUNG: I take from Dr.  
8 Shumrick's report that he has not been asked to  
9 give any opinion and he has not considered the  
10 issue of probability of survival, cure, and so  
11 forth; is that accurate?

12 MR. MURPHY: Well, it's not -- we  
13 talked about that this morning, to be honest with  
14 you.

15 MR. YOUNG: Is it your intention to  
16 supplement the report?

17 MR. MURPHY: Yeah.

18 MR. YOUNG: That would be your  
19 intention?

20 MR. MURPHY: Yes. I'll tell you the  
21 issue we discussed this morning was a second  
22 primary. If one argues that the first lesion that  
23 Dr. Brown saw was a primary, there's an issue of a  
24 second primary in Dr. Shumrick's opinion.

~~Spangler Reporting Services~~

PHONE (513) 381-3330 FAX (513) 381-3342



1 MR. YOUNG: Let me think, I'm not  
2 sure I can -- let me ask a few questions to  
3 understand what the issue is there.

4 MR. MURPHY: That's something you  
5 may want to follow up later.

6 MR. YOUNG: Yeah, I may have **to**. If  
7 I can examine today, I'll do it.

8 BY MR. YOUNG:

9 Q. Dr. Brown testified on deposition  
10 that if he had been told that -- withdraw it.

11 Dr. Brown testified on deposition  
12 that if the pathology report had indicated that  
13 this lesion was suspicious for squamous cell  
14 carcinoma, he would have gone back into surgery and  
15 re-excised the area. Would that have been proper  
16 management of the case?

17 A. Yes.

18 Q. He further testified that if he had  
19 had the opportunity to do that, the probability of  
20 Mr. Boyd's cure or survival would have been a given  
21 percentage which he used, which has now escaped  
22 me. Do you recall reading that testimony?

23 A. Yes.

24 Q. Do you agree with his testimony?

1           A.     I don't know how to answer this  
2 because it is my feeling that Mr. Boyd's ultimate  
3 demise did not come from the lesion in his tongue.  
4 So I don't know if I can agree -- if Dr. Brown felt  
5 this was the only squamous cell carcinoma in the  
6 head/neck area or in other parts of the body, if he  
7 felt that this was the only one, and obviously if  
8 he had re-excised it, yes, the chance of survival  
9 would have been better.

10           Q.     But you question whether this was the  
11 primary tumor which caused his demise?

12           A.     Yes, I do.

13           Q.     What is the basis for questioning  
14 whether this is the primary tumor?

15           A.     Well, in the first place you recall  
16 this was really finally picked up by the  
17 oncologist, who in getting more history was told  
18 that, oh, by the way, I had a -- and even then he  
19 had already been examined by a dentist, he had been  
20 txamined by other capable people, no one saw this,  
21 no one felt this. For it to be as aggressive,  
22 almost an overwhelmingly unstoppable metastatic  
23 Lesion, I mean this is a big tumor, this tumor was  
24 actually necrotic in the neck. It ran out of its

1 own blood supply and died. That's what the needle  
2 aspiration read. He said he got purulent looking  
3 material. That wasn't purulent looking material,  
4 that was dead lipid cells. That's why they didn't  
5 culture anything out. This thing grew so  
6 aggressively it literally consumed him, but the  
7 tongue lesion apparently didn't change much at  
8 all.

9 Now based on experience from a  
10 service in this institution in which we do the  
11 majority of this kind of tumor work in this city  
12 and this area, that is a very, very unusual  
13 situation. And if one out of three -- let me  
14 explain -- I forget who -- what was the other guy's  
15 name that started with a C?

16 MR. MURPHY: Doctors in this case?  
17 You're referring to Cervino?

18 A. I guess so. He did what he called  
19 triscopes or something. We do quadsopes, four.  
20 Why do we do that? Because 11 percent of patients  
21 with a head and neck tumor upon presentation of  
22 that head and neck tumor have another one that has  
23 yet not caused symptoms, has not produced any pain,  
24 discomfort, bleeding or anything else.

1           Q.    Are you talking about metastatic  
2 tumor?

3           A;    No, another primary.  So we are  
4 concerned that while we are attending to the  
5 primary tumor for which he complains, there is  
6 another one going on somewhere else.  The  
7 carcinogenesis of this problem isn't just to one  
8 area.  The carcinogens in the cigarettes, the  
9 smoke, depending whether you believe it or not,  
10 bathe all kinds of areas, including the  
11 nasopharynx, the hypopharynx, lungs, larynx,  
12 esophagus, bronchi, trachea, involve all these  
13 particular areas.  So while we are aggressively  
14 after a particular tumor, we must assure ourselves  
15 that there are no other tumors.

16           MR. YOUNG:  Okay.  Just for the  
17 record so that we don't misunderstand here, we're  
18 in an area that I know nothing about, have not been  
19 put on notice with any report, but we're here in  
20 Cincinnati a few hundred miles from home, and I am  
21 going to try to examine so that I understand the  
22 opinion, but I'm not going to waive my right to  
23 further examine this witness if there is a  
24 supplementation of the report and it is permitted

1 by the court, and then we'll examine further.

2 Q. Doctor, you talk about in your  
3 opinion another primary lesion having been present  
4 on November 22nd, 1989, correct?

5 A. Yes.

6 Q. Do you draw --

7 A. Excuse me.

8 Q. Go ahead.

9 A. It may not have been present on that  
10 day, but it was certainly subsequently I feel  
11 present to the point that it caused that massive  
12 metastasis, massive metastasis.

13 Q. I don't understand. Do you mean that  
14 -- how can you conclude from this massive  
15 metastasis that there was another tumor as opposed  
16 to this tumor which was not entirely removed?

17 A. Because I don't believe it came from  
18 that tongue lesion.

19 Q. Why?

20 A. Because the tongue lesion didn't  
21 change. Remember it should be growing, if I can  
22 use that term, at the same aggressive rate as the  
23 metastasis. Well, the metastasis was unbelievable.

24 Q. Not all tumors grow even on the same

1 host and of the same type at the same rate, do  
2 they?

3 A. No, but you don't have something that  
4 measures one or so centimeters in size metastasize  
5 first to a supraclavicular area, wrong chain, wrong  
6 place. It should be up high in the jugulo  
7 digastric area. There were a few smaller nodes  
8 there, but the massive one, the one with cavitation  
9 and so forth were all down just above the  
10 clavicle. Wrong place, how come down there.

11 Q. Do you believe in your opinion this  
12 other primary tumor that you're describing -- first  
13 of all, do you believe to a reasonable medical  
14 probability that there was another primary tumor  
15 which gave rise to the metastasis?

16 A. Yes.

17 Q. Do you have an opinion as to when  
18 that other primary tumor first occurred?

19 A. No.

20 Q. Do you have an opinion to a  
21 reasonable medical probability as to whether it was  
22 present in the body on November 22nd, 1989?

23 A. No.

24 Q. Do you have any reason to believe

1 that had that -- first of all, do you have an  
2 opinion concerning where that second primary tumor  
3 **would** have been located?

4 A. Somewhere in the aerodigestive tract.

5 Q. Have you found any evidence at any  
6 point in time of such a tumor having existed in  
7 Allen Boyd?

8 A. Just the one **CT** report in which they  
9 mentioned a lesion at the carina.

10 Q. Are we talking about in October or in  
11 the November CT?

12 MR. MURPHY: October 10.

13 Q. October 10. What about the October  
14 10 CT -- well, let me just pull it.

15 Here's a copy of the report, ignore  
16 the highlighting. Mr. Murphy swoops in quickly.

17 A. Okay, under impressions, 2, 1/11 skip  
18 1 because it has to do with the previous surgical  
19 site.

20 Q. Just for the record, we're referring  
21 to the written CT report of October 11th.

22 A. October 11th, 1990.

23 Q. What in this report causes you to  
24 believe that there was a second primary tumor?

1           A.    I didn't say that, you didn't ask me  
2 that before. You said to me is there any evidence  
3 that there might be a second primary.

4           Q.    Yes, any physical evidence that  
5 supports your conclusion.

6           A.    Would you accept a CT as physical  
7 evidence?

8           Q.    I would certainly like to see what  
9 you have.

10          A.    The chest is otherwise unremarkable  
11 except for some irregularity in the posterior wall  
12 of the trachea immediately above the carina,  
13 extending into the proximal posterior right main  
14 stem bronchus. The lesion would measure between 1  
15 and 2 centimeters in length. That's bigger than  
16 the lesion in the tongue. The possibility that  
17 this could represent the primary lesion, the source  
18 of the apparently biopsied proven metastatic lymph  
19 node is suggested. I don't know, I'm just -- if  
20 you study the pathophysiology of squamous cell  
21 carcinoma and if you look at it in light of the  
22 idea that tumors have rules, oddly enough, that  
23 they usually follow, now I'm not saying all the  
24 time, but they usually follow the -- in the first



1 place, this guy is only 33 years of age. That's  
2 young. Squamous cell carcinoma is thought to be a  
3 disease of males, I agree, but of older males,  
4 upper 50's, 60's, and 70's. Thirty-three is  
5 young. And I don't know when he started smoking,  
6 but, hell, he couldn't have been smoking -- if he  
7 started when he was 20, so he's smoking for 13  
8 years, or if he started at 15 or whatever. This is  
9 a very unusual situation. And it would seem to me  
10 that it overwhelmed his immune system by just the  
11 reports of a large rapidly growing tumor. Within  
12 weeks this thing went from 5 centimeters up to 8  
13 centimeters and so forth, and the tongue even went  
14 undiagnosed.

15 Q. I think it went from 3 centimeters to  
16 8 in a week and a half.

17 A. Yeah, that's what I'm saying. Again,  
18 these are hard to judge without feeling and so  
19 forth. You may be only going over the top of the  
20 iceberg literally, and it could be shaped such and  
21 there's other material above it that masks it.  
22 This is a scary one. This is almost like a science  
23 fiction one because the tongue remains quietly  
24 seeding this and it is totally out of control.

1 That's why they didn't make any attempt to cure him  
2 because even they knew this was not curable.

3 Q. In October and November of '90 it was  
4 uncurable?

5 A. Right.

6 Q. And there's no reason to believe in  
7 November of 1989 it was out of control, is there?

8 A. No, there's no reason to believe  
9 there was tumor there.

10 Q. And if this gentleman in November of  
11 1989 had been diagnosed with squamous cell  
12 carcinoma --

13 A. Of the tongue.

14 Q. Of the tongue and properly screened  
15 to determine whether there were any other lesions  
16 present, and that would have been done, would it  
17 not?

18 A. Yes.

19 Q. I mean proper management of the case  
20 would have required that he be examined and  
21 carefully screened by CT and otherwise to determine  
22 whether there are other lesions?

23 A. Yes.

24 Q. You have no reason to believe that to

1 a reasonable medical probability his treatment  
2 would **not** have enabled him to survive or be cured,  
3 do you?

4 A. Only if I were to accept the fact  
5 that the lesion of the tongue -- was that the only  
6 primary lesion throughout the entire process?

7 Q. Let's assume there's a second primary  
8 lesion as you've described.

9 A. Right.

10 Q. **As** exists in your opinion. **Do** you  
11 have any reason to believe that he could not have  
12 been cured or survived with proper treatment and  
13 with diagnosis of that lesion?

14 A. Why would one **look** for a second  
15 primary if one didn't have a primary tumor?

16 Q. Whether it's primary or metastatic --

17 A. Well, but at the time it was  
18 metastatic.

19 Q. In 1989?

20 A. But he had a report that it wasn't  
21 malignant, so why would he go looking for something  
22 else?

23 Q. I understand, that's not my  
24 question. Let's assume that a diagnosis of this

1 primary lesion of the tongue had been made.

2 Certainly he would have been screened for other  
3 squamous cell carcinoma also?

4 A. Yes, he would.

5 Q. Whether it be metastatic or primary  
6 because there are --

7 A. Yes, yes.

8 Q. **So** he would have been examined and he  
9 would have been treated for any other primary  
10 lesion that would have been diagnosed?

11 A. Yes.

12 Q. **All** right. And **CT** scans would have  
13 been conducted in an effort to determine whether  
14 there might be other primary lesions?

15 A. If the tongue had been diagnosed in  
16 '89 of squamous cell carcinoma, yes, he would have  
17 gone through the whole process.

18 Q. **All** right. Do I take it from that  
19 then that you are unable to conclude to a  
20 reasonable medical probability that his statistical  
21 probability of survival would have changed with a  
22 second primary tumor?

23 A. I can't answer that, I don't know how  
24 to answer that.

1           Q.    Okay.  You don't have any opinion to  
2 a reasonable medical probability as to whether even  
3 if he had had a primary lesion it would have -- I'm  
4 trying to understand the import of your opinion  
5 that there is a second primary tumor.  Dr. Brown  
6 has testified that Mr. Boyd had anywhere between a  
7 70 and a 90 percent probability of cure or survival  
8 had he received a pathology report which indicated  
9 suspicious for squamous cell carcinoma.  You've  
10 indicated that, as I understand it, the only basis  
11 for disagreeing with those statistics that you have  
12 would be that this man might have had a second  
13 primary tumor, correct?

14           A.    Yes.  You guys, lawyers have a way of  
15 documenting, measuring, weighing, taking height.  
16 We don't.  I mean look at this range, 70 to 92 or  
17 whatever number you said, that's a big range.  I  
18 can't comment on that.  Who has had exactly 100 of  
19 those kinds of cases with that size, that shape,  
20 and that location that you can, I mean --

21           Q.    We do that because the law requires  
22 us --

23           A.    No, no, I'm not being critical, I'm  
24 envious because we can't say that.  I think Dr.

1 Brown was giving a generality when he said that  
2 kind **of** an expression.

3 Q. But this man probably would have been  
4 cured and probably would have survived if Dr. Brown  
5 had been told this lesion is suspicious for  
6 squamous cell carcinoma in his opinion. Now you're  
7 questioning that if there's a second primary tumor,  
8 and my question is how would the second primary  
9 tumor have caused a different result with proper  
10 treatment?

11 A. If it could be detected, but the  
12 second tumor was occult enough never to be properly  
13 treated or diagnosed, so we don't know.

14 Q. Occult enough meaning it hadn't grown  
15 to the point that you would provide it was there?

16 A. Or it produced symptoms or there was  
17 some other indication that something was present.  
18 You have to understand how these tumors grow and  
19 that lesion of the tongue I cannot accept. The  
20 pathophysiology of it just is not the way they  
21 behave, and tumors do behave in characteristic  
22 fashion.

23 Q. I understand, but my question is once  
24 you diagnose a fellow with a squamous cell

carcinoma, you do everything you can to determine the extent of the disease?

A. Right.

Q. Whether there's other disease area present and to properly treat it, correct?

A. Correct.

Q. And had that been done with Allen Boyd, even if there are other primary tumors present, if we diagnosed them at the T1 or T2 stage, he's probably going to survive with proper treatment?

A. True.

Q. And when you diagnose someone as having cancer, it requires a regular follow-up even if you surgically treat the person and you radiate and so forth, you regularly follow that person for a period of years, do you not?

A. True.

Q. Until statistically, whether it be five years or whatever it might be, you know that there is no increased likelihood of incidence of cancer?

A. True.

Q. And good practice would have required

1 Dr. Brown or whomever would have treated this man  
2 with this disease to continue to do that?

3 A. If he had --

4 Q. If he had the proper diagnosis.

5 A. Right, true.

6 MR. YOUNG: Let me take a few  
7 minutes if I may, I think I'm done, but let me  
8 **look** through my notes.

9 (Brief recess.)

10 BY MR. YOUNG:

11 Q. As I go through this, Doctor, one  
12 question occurs to me. You testified earlier that  
13 **an** incisional biopsy on November 22, 1989 would not  
14 have been improper by Dr. Brown even under the  
15 circumstances of this small lesion.

16 A. No, it wouldn't have been improper or  
17 whatever --

18 Q. It would have been easier to do an  
19 excisional biopsy and more proper?

20 A. Yes.

21 Q. Based on the pathology report that  
22 Dr. Brown received from Dr. Alonso, had he done an  
23 incisional biopsy, should he have rendered further  
24 care in the management of this lesion?



1           A.     Again, it would depend on what Dr.  
2 Alonso told him the lesion was histologically.

3           Q.     In written form or by telephone?

4           A.     It doesn't make any difference, both  
5 or -- everything has to be in written form, but we  
cannot accept hearsay on the phone.

7           Q.     Based on the written report, **would**  
8 his management of the case have been proper had he  
9 performed an incisional biopsy?

10          A.     Yes.

11          Q.     Doctor, have you formed any opinions  
12 in this case which you have not expressed here  
13 today?

14          A.     None that I can think of offhand,  
15 no.

16          Q.     **Is** it your intention to supplement  
17 the report that you've given to Mr. Murphy  
18 concerning a possible second primary tumor?

19                   MR. MURPHY: We haven't discussed it  
20 yet. It came up this morning.

21                   MR. YOUNG: So you don't know at  
22 this point?

23                   MR. MURPHY: I will ask him to do  
24 it, though it's an issue we have to **look** at.

1                   You didn't know you were going to  
2 have more work after this, did you?

3                   THE WITNESS: No.

4                   MR. YOUNG: I have nothing further  
5 at this time. Thank you. And I will reserve my  
6 right to further cross-examine depending on any  
7 supplemental reports.

8                   MR. MURPHY: Let me just note on the  
9 record that John Jackson, who is the primary lawyer  
10 for Dr. Alonso, asked that his rights be reserved  
11 if after he reads this he wants to ask some  
12 questions.

13                   MR. YOUNG: Of course, I will object  
14 to that, but that's something we'll deal with at  
15 the time.

16

17

18                   DONALD A. SHUMRICK, M.D.

19

- - -

20                   DEPOSITION CONCLUDED AT 1:00 P.M.

21

- - -

22

23

24

## C E R T I F I C A T E

STATE OF OHIO :

: SS

COUNTY OF HAMILTON :

I, LOIS A. ROELL, RPR, the undersigned, a  
duly qualified and commissioned notary public  
within and for the State of Ohio, do hereby certify  
that before the giving of his aforesaid deposition,  
the said DONALD A. SHUMRICK, M.D., was by me first  
duly sworn to tell the truth, the whole truth and  
nothing but the truth; that the foregoing is the  
deposition given at said time and place by the said  
DONALD A. SHUMRICK, M.D.; that said deposition was  
taken in all respects pursuant to agreement and  
Notice to Take Deposition; that said deposition was  
taken by me in stenotypy and transcribed by  
computer-aided transcription under my supervision;  
that the transcribed deposition is to be submitted  
to the witness for his examination and signature;  
that I am neither a relative of nor attorney for  
any of the parties to this cause, nor relative of  
nor employee for any of their counsel, and have no  
interest whatever in the result of the action,

IN WITNESS WHEREOF, I hereunto set my hand

Spangler Reporting Services

PHONE (513) 381-3330 FAX (513) 381-3342

1 and official seal of office at Cincinnati, Ohio,  
2 this                      day of                      , 1994.  
3  
4  
5

6 MY COMMISSION EXPIRES: LOIS A. ROELL, RPR  
7 AUGUST 12, 1997.                      NOTARY PUBLIC-STATE OF OHIO  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

Spangler Reporting Services

PHONE (513) 381-3330 FAX (513) 381-3342



Spangler Reporting Services

August 18, 1994

Patrick J. Murphy, Esq.  
Jacobson, Maynard, Tuschman & Kalur  
1001 Lakeside Avenue, Suite 1600  
Cleveland, Ohio 44114-1192

In Re: Suzanne Boyd, Etc., et al. vs Bert M. Brown M.D., et  
al.  
Case No. 233783

Dear Mr. Murphy,

Please find enclosed your copy of the deposition of Donald A. Shumrick, M.D., taken August 12, 1994 in the above matter.

Please allow the deponent to review and sign your copy of his deposition, make any corrections that are in order on the enclosed errata sheets, and return the signature page and corrections to our office as soon as possible.

Pursuant to Rule (30)E of the Ohio Rules of Civil Procedure, the deponent has 7 days in which to review, sign and return signature to our office. If the deponent fails to do so, the certificate may be certified and forwarded to the attorney ordering the original or filed with the court upon request.

At the same time a copy is being forwarded to Charles M. Young, Esq.

Your courtesy and cooperation is greatly appreciated.

Sincerely,

Mary Trimborn

Mt:mn  
enclosure  
cc-Charles M. Young, Esq. ✓

Clopay Building  
105 East Fourth Street  
Suite 905  
Cincinnati, Ohio 45202  
513 381 3330