

IN THE COURT OF COMMON PLEASCUYAHOGA COUNTY, OHIO

SUZANNE BOYD, ET AL.,

Plaintiffs,

-vs-

JUDGE CALLAHANCASE NO. 93CM110447BERT M. BROWN, M.D.,
ET AL.,

Defendants.

Doc. 408

- - - -

Continued telephone deposition of DONALD A.
SHUMRICK, M.D., taken as if upon
cross-examination before Linda A. Astuto, a
Registered Professional Reporter and Notary
Public within and for the State of Ohio, at the
offices of Sindell, Lowe & Guidubaldi, 610
Skylight Office Tower, Cleveland, Ohio, at 5:00
p.m. on Thursday, September 8, 1994, pursuant to
notice and/or stipulations of counsel, on behalf
of the Plaintiff in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 APPEARANCES:

2 Charles Young, Esq.
3 Lynn Lebit, Esq.
4 Sindell, Lowe & Guidubaldi
5 610 Skylight Office Tower
6 Cleveland, Ohio 44115
7 (216) 781-8880

8 On behalf of the Plaintiffs;

9 Patrick Murphy, Esq. (Via Telephone)
10 Jacobson, Maynard, Tuschman & Kalur
11 1001 Lakeside Avenue
12 Suite 1600
13 Cleveland, Ohio 44114-1192
14 (216) 736-8600,

15 On behalf of the Defendants
16 Bert M. Brown, M.D.
17 and Cleveland ENT;

18 John V. Jackson, II, Esq. (Via Telephone)
19 Jacobson, Maynard, Tuschman & Kalur
20 1001 Lakeside Avenue
21 Suite 1600
22 Cleveland, Ohio 44114-1192
23 (216) 736-8600,

24 On behalf of the Defendants
25 R. Alonso, M.D.
 and Garfield Pathology Associates, Inc.

1 DONALD A SHUMRICK, M D. o s lawful ag^e.
 2 called by the Plaintiffs for the purpose of
 3 cross-examination, as provided by the Rules of
 4 Civil Procedure. Being by me first duly sworn,
 5 as hereinafter certified, deposition and said as
 6 follows:

7 CONTINUED CROSS-EXAMINATION

8 OF DONALD A SHUMRICK, M D

9 By MR QUONG:

10 MR QUONG: Let's go on the
 11 record.

12 Q Dr. Shumrick, you've previously been sworn and
 13 I'll stipulate that anything you say is the
 14 truth in your opinion.

15 A Yes, I do.

16 Q What we're going to do is simply try to
 17 supplement very quickly what we did when we were
 18 in your office on August 12th If you can't
 19 hear a question or anyone cannot hear heard,
 20 please speak up and let us know that

21 A I will.

22 Q Doctor, when I was in your office in August you
 23 were unable to find your file and since then you
 24 found it, I believe.

25 A. Right. And I sent it up to Patrick but I do

1 have one other one that I found just this
2 morning It was a letter from a Dr. Jeffrey
3 Mendelsohn.

4 Q What was Dr Mendelsohn's report and what's the
5 date of that?

6 A It's June 23, 1994 I'm sorry I just found it
7 today.

8 Q. June 23rd of '94?

9 A This is the letter to Mr. Jackson.

10 Q I'm just checking the date on that And that
11 begins with --

12 A. Dear Mr. Jackson: At your request, I have
13 reviewed medical records of the case of Allan
14 Box as well as pathology slides from Marymont
15 Hospital representing a biopsy taken from the
16 base of his tongue

17 Q What appears to be Dr. Mendelsohn's report in
18 this case?

19 A. Right.

20 Q. Now, in addition to that you had other materials
21 which you sent off to Pat Murphy and you've been
22 through those. ^{I have}

23 Has he returned those to you now?

24 A Yes, I have them.

25 Q You have them there before you?

1 A. Yes.

2 Q. In addition to those materials, those that you
3 sent *off* to Pat, and the report of Dr.
4 Mendelsohn, are there any other materials that
5 you have received since the date of your
6 deposition August 12th?

7 A. No, I don't think so.

8 Q. Okay. You haven't received any other reports?

9 A. No. No.

10 Q. Any summaries of testimony of other experts in
11 this case?

12 A. No.

13 Q. Have you had the opportunity to do any research
14 concerning the case in any manner?

15 A. No. No. Not really, no.

16 Q. Okay. When you say not really, you seem to be
17 qualifying it some.

18 A. You're right. I didn't mean that.

19 Q. You've not had the opportunity to look at any
20 articles which agree or disagree with your
21 opinion, I assume?

22 A. No.

23 Q. Have you had the opportunity to talk with Pat
24 Murphy about any of the issues that have arisen
25 since your deposition on August 12th?

A. Well, I guess I did and I told him that I had found Dr. Mendelsohn's report.

Q. And did you discuss Dr. Mendelsohn's opinion?

A. No. We didn't really. I didn't know whether it was important or not but I said that I had found it and I felt very guilty not having kept all the papers together.

2. All right. Was that just recently that you found that report?

A. It was this morning.

Q. And did Pat have the opportunity to tell you about Dr. Mendelsohn's deposition in any manner?

A. I don't think he -- oh, his deposition, no. No.

Q. Did you have the opportunity to discuss the doubling time theory in any way with Pat?

A. He mentioned it and I told him that to be honest, I don't know anything about that. That isn't something that I with any intelligence can discuss.

Q. So essentially you are where you were when we last talked with you?

A. Exactly.

Q. Except that you've had the opportunity to prepare the supplemental report which we discussed very briefly when we were there

1 before?

2 A Right.

3 Q Now, as I understand it you prepared that
4 supplemental report from your memory in the case
5 and from some materials that Pat had. You haven't
6 found your file by the time you did that?

7 A No.

8 Q But -- is that correct?

9 A Yes, that's correct.

10 Q But essentially you were just flushing out in
11 that report the opinion that you had briefly
12 expressed in the last deposition?

13 A. Right.

14 Q. The supplemental August 19th of 94 report?

15 A All right.

16 Q Does that sound right to you?

17 A Yes.

18 Q And did you prepare that or dictate it when Pat
19 was actually there?

20 A No I don't think I did. No, I know I didn't
21 because he contacted me a couple of days later
22 and said that he really needed it. Where was
23 some time element. Ask me if I could please get
24 it in as soon as I could.

25 Q Okay. It's really a clarification concerning

1 the materials that you have that I'm looking for
2 today and I'm going to the materials in the
3 order that they've been supplied to me through
4 Mr. Murphy and I see a letter from Mr. Murphy
5 dated September 14th, 1993 to you.

6 Do you have that there before you?

7 A. Yes.

8 Q. Now, at the bottom of that, at the bottom of
9 that letter is a note.

10 Is that in your handwriting?

11 A. Yes.

12 Q. It's dated 1/9/91?

13 A. Right.

14 Q. And what is the relevance of 1/9/91?

15 A. If I recall it correctly, Mr. Boyd saw someone
16 who on that date felt that the tumor, that's why
17 I quoted it, was not curable or resectable.

18 Q. So that is a notation that you found in the
19 medical records that were supplied to you?

20 A. That's right.

21 Q. And talking about the medical records that were
22 supplied to you, I find that there were excerpts
23 from the hospital records that were supplied to
24 you.

25 Were there any omissions or materials that

1 you believe that you needed in order to form
2 your opinion which were not supplied?

3 A. No.

4 Q. Any obvious omissions that you felt you needed
5 to form an opinion in the case?

6 A. No.

7 Q. Now, when you saw the 1/9/91 notation not
8 curable or resectable, did you agree with that?

9 A. Yes.

10 Q. Can you give me an idea in your opinion at what
11 point the metastatic disease became incurable
12 and non-resectable?

13 A. Well, no. I don't think anyone can. I think,
14 remember he wasn't seen for approximately a year
15 and when he was seen, this was such a
16 fulminating, aggressive tumor that I think that
17 decision was made at that point, but I don't, I
18 don't know, no, I couldn't say when he became
19 unresectable.

20 Q. Now, you just said something there, you said you
21 don't think that anyone can.

22 Do I conclude from that statement that
23 essentially because the tumor wasn't diagnosed
24 in November of 1989, and the staging was not
25 done, and the staging was not done between

1 November of '89 and the fall of 1990, that it's
2 difficult to pinpoint a time between November of
3 '89 and the fall of '90 when it became less
4 probable than not that he could survive the
5 disease? Do you understand that question?

6 A. I'm not sure.

7 Q. It's kind of convoluted. Let's ask it this
8 way.

9 I'm assuming that in November of 1989 had
10 this disease been diagnosed, he probably would
11 have been cured with the proper treatment, would
12 you agree with that?

13 A. No, I don't think you can say that.

14 Q. All right.

15 A. Plus I think I made it clear it's my very firm
16 conviction that his metastasis did not originate
17 with the lesion in the tongue.

18 Q. We'll get back to that then. Let me go on with
19 some materials here and we'll explore that.

20 A. Okay.

21 Q. I'm looking at your copy of Dr. Brett's report
22 to me of January 12th, 1994.

23 Do you have that there?

24 A. Yes.

25 Q. And on page three you have some notations

1 concerning the second paragraph.

2 A. Okay.

3 Q. And you have underlined the first sentence, "a
4 significant proportion of patients who have oral
5 leukoplakia develop malignancy over time."

6 A. Yes.

7 Q. And written above that paragraph is "what if he
8 had."

9 What did you mean by that?

10 A. I was referring to the paragraph above, the last
11 sentence, "there was no indication that Mr.
12 Brown ever communicated," excuse me, "there was
13 no indication that Dr. Brown ever communicated
14 the pathologic findings to Mr. Boyd." I wrote
15 what if he had?

16 Q. And what does that mean?

17 A. Well, he would have told him what the
18 pathologist told Dr. Brown.

19 Q. And in your position that was that it was a
20 benign report?

21 A. That's right.

22 Q. You have in the left-hand margin "not true."

23 What did you mean by that?

24 A. That's just rubbish, that a significant
25 proportion of people with -- once again, you

1 know my feeling about the word leukoplakia.

2 That just isn't true.

3 Q. Okay. And you've taken the position that
4 essentially there are no pre-malignant oral
5 lesions, correct?

6 A. Yes.

7 Q. That's a fair statement of ,your position?

8 A. Yes. And I think Dr. Brett, am I pronouncing
9 his name correctly?

10 Q. Yes.

11 A. He, if I understand, he's a medical oncologist.
12 He doesn't treat these and he doesn't manage
13 them either.

14 Q. You know, Doctor, in looking through a copy of
15 Medical Oncology Today, the second edition, I
16 found a reference to pre-cancerous lesions, oral
17 lesions.

18 Would you agree with me there is a great
19 deal of authority that there are pre-malignant
20 oral lesions?

21 A. No. I wouldn't.

22 Q. You would not agree with that?

23 A. I would not.

24 Q. Do you take the position that this is an old
25 medical theory and that medical science today

1 believes that there are no pre-malignant
2 lesions?

3 A Well, you're being a little inclusive by saying
4 there are no, you know, never say always and
5 never say never.

6 I don't know exactly what you're talking
7 about. Certainly the rather cavalier way of
8 using the word leukoplakia the way these people
9 do, I mean what are we talking about? What is
10 the histological description of whatever this
11 leukoplakia is.

12 Q Let me ask it this way then.

13 I think from your prior testimony you
14 testified that pre-malignant is the problem that
15 you have with it, that benign lesions don't
16 become malignant, but there are benign lesions
17 that occur commonly together with cancerous
18 lesions, is that your position?

19 A I can accept that, yes.

20 ^ So that if you see certain things, and we
21 defined that previously in your deposition, but
22 if you see certain things that are a warning
23 sign and essentially you eliminate the condition
24 on the tongue because they can occur in common
25 with a cancerous condition and it is just better

1 to be safe, is that fair?

2 A. I don't think that is what I was talking about.
3 I was talking about just looking is one thing.
4 That is what leukoplakia is. It's a descriptive
5 term. I was talking about a histological
6 description of what that leukoplakia is. That
7 is what Dr. Brown did. He appropriately
8 biopsied it.

9 Q. All right. And we didn't talk about the
10 difference between dysplasia and those terms
11 that were used by Dr. Alonso in her written
12 pathology report.

13 Is there a difference in your mind between
14 dysplasia and that which was set forth in her
15 written report?

16 A. Well, help me to define what it is you actually
17 mean.

18 Q. Well, in the telephone conference note made by
19 Dr. Brown he wrote mild dysplasia.

20 A. Yes.

21 Q. Would mild dysplasia be consistent with the
22 written diagnosis and microscopic findings
23 contained in her pathology report on the
24 November 22, 1989 biopsy?

25 A. I don't have before me what her written report

1 said.

2 Q. Do you have that there in your materials?

3 A. I don't know. Do I?

4 Q. Yes. You should. I have a copy of your
5 materials and it's in that.

6 A. Help me. Where is it in what you have? You
7 have everything numbered.

8 Q. Mr. Murphy does.

9 MR. MURPHY: It should be in the
10 first section, part of Dr. Brown's office
11 chart material. I believe there's a copy
12 of Dr. Alonso's report in there.

13 A. Okay. Hang on. Okay. I have it. "Moderate
14 papillary hyperplasia with hyperkeratosis, focal
15 mild atypia and chronic inflammation from
16 tongue."

17 Q. Correct.

18 A. Is that it?

19 Q. Is that diagnosis consistent with mild
20 dysplasia?

21 A. Well, that isn't what she said.

22 Q. I understand.

23 A. I'm not sure --

24 Q. They are the same thing?

25 A. I don't know. I don't know how to answer that.

1 Q What is dysplasia?

2 A It's a changing of the orderliness of the
3 production of the cells

4 Q It is an abnormal cell reproduction?

5 A I wouldn't say it was necessarily abnormal. It
6 just is a changing of the consistency of the
7 cells

8 Q All right. It can be benign?

9 A Yes.

10 Q Or it can be a condition which will develop into
11 a malignant? Would you agree?

12 A No.

13 Q It cannot?

14 A No. I don't think you can say that

15 Q I'm saying can possibly I am not talking about
16 will certainly

17 MR MURPHY: Object to
18 possibilities.

19 A Yes. anything can possibly happen I suppose.

20 Q Well, we just talked about -- what I'm trying to
21 understand is the extent of your opinion. We
22 talked about the fact that benign conditions
23 don't develop into malignant, and as I
24 understand it with dysplasia, where we're talking
25 about a different type of cell reproduction.

1 A. All right. At that point it is benign.

2 Q. Okay.

3 A. And it isn't going to necessarily begin to
4 change before our eyes. If other cells are
5 produced that go beyond just a simple dysplasia,
6 that isn't the same thing. The dysplasia
7 changing into a malignancy, it may well be a
8 dual process.

9 Q. But it is possible for dysplasia to progress to
10 a malignancy?

11 A. Dysplasia is dysplasia.

12 Q. Does good practice require the elimination,
13 surgical removal of dysplasia?

14 A. Not necessarily, no.

15 Q. Does it require following and monitoring?

16 A. Yes, I suppose so.

17 Q. When you concluded that Dr. Brown properly
18 managed this patient, did you eliminate the
19 consideration of dysplasia from the further
20 management of the patient?

21 A. No. As I recall, Dr. Brown told the patient to
22 return within one week for follow-up and to hear
23 of the pathologic diagnosis.

24 Q. Do you recall Dr. Brown's testimony on
25 deposition that dysplasia was consistent with

1 the written report that he had received?

2 A. Well, it all depends on what individual -- I
3 don't think there is one single, solid rock hard
4 definition of dysplasia.

5 MR. MURPHY: Chuck, I'm going to
6 note an objection here. I think you are
7 going pretty far afield from the
8 supplemental reports.

9 MR. YOUNG: I am just trying to
10 understand what he means by that. I will
11 try to stay on that.

12 Q. But, Doctor, Dr. Brown recommended that Mr. Boyd
13 follow-up, as you've testified, in your opinion,
14 he instructed the patient in that manner.

15 A. Yes.

16 Q. Do you believe that it was important to follow a
17 condition of dysplasia if it was present in the
18 mouth on November 22, 1989?

19 A. For who to follow it, the patient?

20 Q. Dr. Brown to follow the patient.

21 A. Well, I thought he did follow it by telling him
22 to come back.

23 Q. Okay. So as I recalled your testimony, it was
24 important that he do that only for two reasons,
25 to communicate the pathology report as being

1 benign and to follow the surgical wound and make
2 sure it was healing.

3 But in your opinion it was necessary to do
4 that to follow a condition of dysplasia as well?

5 A. Mr. Young, you've used the term dysplasia as
6 though it's an absolute concrete entity and I'm
7 sorry, I don't accept it that way.

8 Q. I'm not trying to infer that in any way.

9 A. But you're giving it an importance that I'm not
10 sure it justifies.

11 Q. That's all I'm trying to understand, what in
12 your opinion a diagnosis of mild dysplasia would
13 require of a surgeon considering this lesion.

14 1. Well, an individual could have a dysplasia from
15 a number of different things. Irritation and
16 rubbing against the teeth and things of this
17 nature that isn't really something you're going
18 to follow.

19 2. In your opinion on November 22, 1989, with a
20 telecommunication of mild dysplasia, was Dr.
21 Brown able to rule out the possibility that this
22 would develop into a cancerous condition?

23 A. No.

24 Q. Okay. In a section of your materials, and I
25 can't tell whose office records they are, in

1 handwritten form at the top left corner is Allan
2 Boyd and the date October 12, '90, right hand is
3 written page one with one circled.

4 Do you see those materials?

5 A. Hang on. No. Not the dental one, I take it?

6 Q. No. These are typewritten office notes.

7 A. Typewritten. Hang on. Okay. Give it to me
8 again.

9 Q. Let's see if I can describe it. We're talking
10 about probably six sheets, five sheets of
11 paper.

12 A. Yes.

13 Q. And on the top left-hand corner is written in
14 handwriting Allan Boyd.

15 A. Right, I see that. 790 West Minster.

16 Q. That's it. Now, in that pack of papers we have
17 a report dated October 29, 1990 from Dr. Cervino
18 to Dr. Nowak.

19 A. Okay. "Dear Mike?"

20 Q. That's it.

21 A. October 15th.

22 Q. Now, as I understand your second primary
23 conclusion, it's your position that the
24 metastasis, the metastatic lymph nodes were in
25 the wrong chain to have metastasized from the

1 tongue, is that correct?

2 A. Yes.

3 Q. And you would agree that it was possible for
4 tongue cancer to metastasize to those nodes but
5 that to a probability they metastasized
6 generally in a different form from the tongue,
7 is that right?

8 A. Yes.

9 Q. Now, where would you expect tongue cancer on the
10 left margin of the tongue to first metastasize
11 to?

12 A. Typically to the digastric triangle, the upper
13 cervical lymph nodes.

14 Q. All right. Now, as I recall from, and you
15 conclude from the CT Scan of October 10th, 1990
16 that that was not the manner in which these
17 nodes metastasized, is that correct?

18 A. The nodes didn't metastasize. They were always
19 there.

20 Q. I'm sorry, the disease metastasizes to the
21 nodes.

22 A. Yes, sir.

23 Q. But is the CT Scan of October 10th, 1990 the
24 basis for your conclusion that there was a
25 different form of metastasis?

1 A. Not necessarily.

2 Q. What physical --

3 A. The description of where the lymph nodes were,
4 their size and the extent, as an example, to the
5 fact that they literally became necrotic. They
6 outgrew their own blood supply they were growing
7 so rapidly. This is a very aggressive, invasive
8 tumor.

9 Q. But my question is --

10 A. And I based my opinion on that.

11 Q. On the aggressive nature of the tumor?

12 A. Well, I mean if I may use the term, it is almost
13 like a science fiction thing. It is growing so
14 rapidly, within days it seems to have gotten
15 bigger by several of the reports.

16 Q. Now, I understand the aggressive nature in which
17 the tumor developed in the fall of '90.

18 But what I'm looking for is a clarification
19 on your apparent conclusion that the metastasis
20 occurred in the wrong chain of lymph nodes?

21 A. Not only the wrong chain, the wrong location.

22 Q. Now, when I look at the records of Medina
23 Community, the records of Dr. Cervino, this
24 report for instance down about the third
25 sentence there he states he did have other

1 smaller but enlarged nodes in the left neck
2 higher up in the mid cervical and
3 jugulodigastric region.

4 A. Okay.

5 Q. Now, that is the region to which you conclude
6 the metastasis would first occur, correct?

7 A. Yes.

8 Q. Is it because the lower nodes are larger and are
9 growing more quickly that you conclude that the
10 metastasis is not from the tongue?

11 A. No. The lower nodes are supraclavicular which
12 is a, when one understands the lymphatic
13 drainage of the tongue, which is totally out of
14 place. I would think it would come from the
15 lung or from the mediastinum or from somewhere
16 below the clavicles.

17 Q. When he refers to enlarged nodes higher up in
18 the mid cervical and jugulodigastric region,
19 those are not the nodes to which you would
20 expect the metastasis to first occur?

21 A. Yes, I would, but you see there is a difference
22 of involvement.

23 Q. In other words, the nodes further down are
24 larger and the metastatic lesions appear more
25 aggressive in the lower nodes?

1 A I think one would logically have to accept that
2 the lower nodes were involved with tumor before
3 the upper nodes were and that's why the
4 progressed in such size

5 Secondly, Dr. Cervino I feel is wrong in
6 that he really doesn't understand nasopharyngeal
7 carcinoma and its metastasis

8 Q Well, Dr. Cervino Dr Hazra and Dr. Fromm
9 essentially all conclude that this was
10 metastatic tongue cancer, did they not?

11 A Well, he says, if I can quote him, 'I would not
12 be surprised if it's coming from the nasal
13 pharynx "

14 Q That is before they identified the tongue lesion
15 but you are aware of the fact that all of these
16 doctors later conclude that this was metastatic
17 tongue cancer, correct?

18 A. I don't know.

19 Q. You don't know that?

20 A. No. I mean they can conclude it in retrospect
21 but I don't know that that makes it specific
22 How did they explain away while he literally is
23 being consumed by the lymph nodes in the neck
24 the tongue goes totally unnoticed even as the
25 patient, not mentioning it until the person just

1 happened to pick it up in conversation.

2 Q. Well, Doctor, if there was a second primary
3 tumor, would you assume that the second primary
4 tumor would be growing with the same
5 aggressiveness as the, same as the metastatic
6 lesion that you're describing?

7 A. No. Not necessarily.

8 Q. Now, why, how are you able to conclude that
9 there is a second primary simply because the
10 initial primary didn't grow with the same
11 aggressive nature?

12 A. What I'm actually saying is that even tumors
13 have patterns that they follow and for the
14 lesion on the tongue to be so totally innocuous
15 and then to give a metastasis to an area that is
16 so unbelievably aggressive, that isn't the way
17 squamous cell carcinoma portrays itself.

18 Q. I understand. But what I'm trying to understand
19 is, as I understand your testimony, the tongue
20 tumor is essentially resting passively, it is
21 not growing aggressively, correct?

22 A. It wasn't growing at all.

23 Q. And from that you conclude in part that there
24 must be a second primary tumor?

25 A. Yes.

1 Q. What would you expect of a second primary tumor
2 then in terms of growth and progression?

3 A. It may metastasize, it may have been under the
4 clavicle, it may not have been detected and may
5 have been a completely different tumor.

6 As it was read, if I recall it correctly,
7 it was read as moderate -- hang on.

8 MR. MURPHY: Looking for a path
9 report?

10 THE WITNESS: Yes.

11 MR. MURPHY: From the second tongue
12 biopsy?

13 THE WITNESS: Well, when it was
14 reread.

15 Q. You're looking for the Cleveland Clinic
16 interpretation?

17 A. I suppose so, yes. Because it was read, as I
18 recall it, as moderately --

19 MR. MURPHY: The Cleveland Clinic
20 material should be kind of at the bottom of
21 the packet if it is in the same order.

22 THE WITNESS: Okay. I have kept it
23 in that order.

24 A. Okay. I have it here, okay. The findings on
25 this biopsy, this is by Dr. Nunez --

1 Q. This pertains to what date, Doctor, the
2 November, 1989 lesion?

3 A. The letter is written on January 16th, 1991 and
4 I think they're referring to the slides.

5 Q. The Marymount slides?

6 A. Yes. S89-5227.

7 Q. Right. Those are the Marymount slides.

8 A. He said the findings are highly suspicious for a
9 well differentiated squamous cell carcinoma.
10 Well, a well differentiated squamous cell
11 carcinoma doesn't act the way this one did if it
12 had metastasized to that neck.

13 One, it metastasized to the wrong place in
14 the neck.

15 Secondly, by its unbelievable aggressive
16 behavior, that isn't the way a well
17 differentiated squamous cell carcinoma behaves.

18 Q. If we look at the next page we see that his
19 diagnosis on the 1990 slides is moderately
20 differentiated squamous cell carcinoma.

21 Does the difference between well
22 differentiated and moderately differentiated
23 make that much of a difference?

24 A. Well differentiated tumor shows pearls and so
25 forth. You know, I don't know. But under

1 number one, he says suspicious for well
2 differentiated squamous cell carcinoma.

3 The lesion is difficult to interpret even
4 then, even retrospectively he's saying it's
5 difficult.

6 What I'm trying to understand is what you would
7 expect of the second primary tumor which you
8 contend must have existed in Allan Boyd.
9 I'm saying the probability that there was
10 another primary is very good because of the
11 behavior of the lesion in the tongue and the
12 behavior of the metastasis from wherever it came
13 in the neck. I think that's a reasonable
14 statement.

15 I understand the question of the chain of lymph
16 nodes.

17 But it's --

18 It isn't so much the chain, Mr. Young. These
19 are supraclavicular. I mean they are, there's
20 just almost no way for it to get down there, you
21 know, from a lymphatic channel basis.

22 Well, when we say almost no way to get there,
23 it's well understood in the community that
24 tongue cancer can metastasize to any area of the
25 body, isn't it?

1 A Yes, that's true

2 Q I mean it can go to the liver, it can go to
3 pretty much any organ in the body in terms of
4 possibility?

5 A Absolutely.

6 Q When we talk about what is generally accepted,
7 we're talking about it usually happens in a
8 different way, correct?

9 A Well, let me put it this way It can only go
10 one of the routes It can do it direct
11 invasion. It can do it by invading blood
12 vessels and then traveling throughout the body
13 lodging in the liver or some other place, or it
14 can go by lymphatic spread

15 Now, I would submit to you that this went
16 predominantly by lymphatic spread, but I just can't
17 see that as described, quiescent tongue lesion,
18 causing the massive growth in the cervical neck
19 And I appreciate that and excuse my ignorance
20 What I'm trying to understand is --

21 It isn't ignorance, r. Young, it is just
22 knowing and understanding how these things, how
23 do I put it, carry out their tasks

24 Q. What I'm looking for is what -- I understand
25 that the metastatic lesion was aggressive and

1 that the tongue lesion was quiescent, it was not
2 growing, and I'm looking for what you would
3 expect of a second primary tumor in terms of
4 progression in the fall of 1990.

5 A. Well, I would expect a, in the sense of what was
6 detected, I would expect a lesion that was
7 anaplastic, there was no differentiation
8 whatsoever and I would expect that it would be
9 the lesion that would metastasize quickly and
10 with great vigor as I think it did.

11 Q. And in terms of progression or growth of the
12 primary lesion, the second primary lesion, what
13 would you expect?

14 A. I would expect this to be not a pushing, but an
15 invasive type lesion and it doesn't have to grow
16 to great size.

17 Q. All right. Now, let me go to your report then
18 of August 19, 1994. You raise three points
19 which you contend are relevant concerning the
20 second primary lesion.

21 The first is Mr. Boyd was unusually young.

22 1. Yes.

23 2. What relevance does that have to this case in
24 your opinion?

25 1. Squamous cell carcinomas in the head and neck

1 area in people of his age are unusual. One
2 wouldn't expect to find a malignancy of this
3 kind in someone of his age.

4 Q. Does that impact on your opinion that there was
5 a second primary lesion?

6 A. I don't know. I've never thought of it that
7 way.

8 Q. Okay. Does that impact in your opinion in any
9 manner on the probability of cure at any point
10 in time?

11 A. Well, the curability of younger people who
12 develop squamous cell carcinomas of the head and
13 neck is not good.

14 Q. And when you say that, are you drawing that from
15 studies with which you're familiar?

16 A. It is more or less -- well, no, from actual
17 experience.

18 Q. And when you draw on actual experience, are you
19 familiar with the occurrence of T1 lesions?

20 A. Yes.

21 2. Stage 1 lesions?

22 A. Yes.

23 2. And probability of survival from those?

24 A. Yes.

25 2. Do you believe that the probability of survival

1 for a person under the age of 40 from a stage
2 one oral cancer squamous cell carcinoma is less
3 than for a person in their fifth, sixth or
4 seventh decade?

5 A. Yes.

6 Q. Is there a statistical probability which you
7 would place on the difference?

8 A. No. That would be difficult because there are
9 so few of them.

10 Q. All right. And when we talk about them, there
11 being so few of them, on how many occasions
12 would you have treated a person with squamous
13 cell carcinoma of the tongue under the age of
14 40?

15 A. Twice.

16 Q. Over a period of how long?

17 A. They were both in at the same time. Is that
18 what you mean?

19 Q. No. Over your career.

20 A. Oh, I don't know. I can't go all the way back.
21 I remember the two cases that I mentioned
22 because they were both extremely unusual and
23 secondly they were both in their twenties.

24 Q. What stage were they in when they presented to
25 you?

1 A MDA's were at stage one

2 Q Both with lesions under two centimeters?

3 A Yes.

4 Q Of the mobile tongue?

5 A Yes

6 Q And what was the outcome of the cases?

7 A Why both with

8 Q Where with this occur?

9 A Oh, I'd say six or seven years ago both
10 patients were actually in the hospital on our
11 service at the same time which just totally was
12 unusual.

13 Q And were there in their twenties with you say?

14 A Yes.

15 Q Both of them?

16 A Yes.

17 Q Okay.

18 A One was 21 and one was 23

19 Q I look at your report and I see 'secondly, the
20 mode of metastasis was extremely unusual,' that
21 refers to the explanation you've just given us?

22 A Which?

23 Q I see 'secondly, the mode of metastasis was
24 extremely unusual' It is stated that the major
25 metastasis was actually in the left

1 supraclavicular area.

2 A. Maybe the word mode wasn't correct. The
3 location and the activity of the metastasis.

4 Q. I see. You believe that the enlarged nodes,
5 which were two and a half centimeters above the
6 supraclavicular node or lesion, had come from
7 that metastatic lesion?

8 A. From which metastatic lesion?

9 Q. From that node?

10 A. I'm sorry, I'm confused.

11 Q. I look at, if I look at the October 10th, 1990
12 CT study, the report is dated October 11th.

13 Do you have that there?

14 MR. MURPHY: That would be in the
15 same packet of records with the records
16 from Dr. Cervino that you were talking
17 about before. It should be a page or two
18 in front of that.

19 THE WITNESS: All right. Hang on.

20 MR. MURPHY: My paperclips remain
21 secure.

22 A. No. They are. They did. Okay. Is this a
23 letter from Mitchell Fromm?

24 Q. No. I'm referring to the report dated October
25 11, 1990 of a CT Scan done by Dr. Nowak.

1 Actually it was at the request of Dr. Nowak.

2 MR. MURPHY: A couple of pages
3 before you get to Fromm's letter.

4 MR. JACKSON: Chuck, are you able
5 to give us any estimate on how much
6 longer?

7 MR. YOUNG: Not much longer, John.

8 A. I'm sorry, I can't find that.

9 Q. Let me read this to you, Doctor, because I know
10 you've seen the report and there's a notation to
11 it.

12 A. This is October what?

13 Q. October 10th of 1990 and in the second paragraph
14 of the interpretation Dr. Kunst has written
15 next, let's see, "soft tissue density in this
16 immediate area appears prominent but may also
17 represent post-biopsy complication."

18 Do you remember that?

19 A. Yes.

20 Q. Okay.

21 A. Kind of.

22 Q. Essentially he's saying that there is a soft
23 tissue density at the area that they biopsied,
24 and he's unable to clearly visualize that but he
25 goes on to say, "however, at the next highest CT

1 section we note at least two enlarged cervical
2 lymph nodes with the more medial just lateral to
3 the jugular vein measuring 2.2 centimeters in
4 diameter and with a second node lying somewhat
5 more laterally measuring 1.2 centimeters."

6 He goes on to state "approximately four
7 centimeters higher in the neck lying medial to
8 the sternocleidomastoid muscle we note an
9 additional lymph node on the left measuring one
10 centimeter in diameter at the borderline of
11 significance."

12 Now, he's finding positive nodes higher
13 than the supraclavicular node to which you
14 referred, which was the primary or the largest
15 area of metastasis.

16 A Was this after the neck was biopsied?

17 Q Yes, it was.

18 A Well, then, that could explain all kinds of
19 different things.

20 Q How so?

21 A Well, you can see a lymph node. There is a
22 general reaction to the biopsy itself. I mean
23 just because a node is enlarged does not mean
24 that it's metastatic.

25 Q I understand. He notes enlarged nodes above in

1 the lymphatic chain, the primary metastatic
2 tumor.

3 And my question to you is because these are
4 smaller nodes, is it your position that the
5 metastasis worked up the chain as opposed to
6 down?

7 A I don't know. I don't know how that can even be
8 answered

9 Q. Why?

10 A. Because after, if I read correctly, the biopsy
11 was taken on the 2nd?

12 MR. MURPHY: I believe it was
13 October 2nd

14 A And the pre-op diagnosis was the left neck
15 abscess.

16 Q Right.

17 A And the I&D of a left neck abscess
18 Obviously they the had a gross contamination of
19 the operative site

20 But as I read it correctly someplace, there
21 was a filling of the contents of the abscess
22 itself So any enlargement of any node from
23 that point on, it would be very difficult to
24 tell whether it was due to inflammatory reaction
25 from the I&D and/or was it due to increasing

1 metastasis.

2 I mean the questions that you're asking me
3 just aren't answerable. Whether it went up the
4 chain or down the chain, I don't know that there
5 is significance to that, but, you know, you may
6 think there is but I don't know how anyone can
7 say.

8 Q. Do you believe or do you have an opinion
9 concerning whether these enlarged nodes were
10 evidence of metastatic disease in the cervical
11 lymphatic chain in October of 1990?

12 A. Which large nodes?

13 Q. The enlarged nodes that we just described?

14 A. The ones from the supraclavicular area?

15 Q. No. Those above the supraclavicular area.

16 A. I don't know. I mean how can one say. They
17 could have been inflammatory.

18 Q. All right. Doctor, in your first paragraph of
19 the report of August 19, 1994 the last sentence,
20 you talk about the CT Scan makes note of a
21 lesion that was seen in the trachea at the
22 carina and extended to the bronchus, correct?

23 A. Yes.

24 Q. Now, have you ever seen the CT report of
25 November of 1990?

1 A. I don't know.

2 Q. It was not in your materials.

3 To your knowledge, has Mr. Murphy ever
4 shown you that report?

5 A. I don't recall it.

6 Q. All right. There is a subsequent CT report on
7 which that soft tissue shadow believed to be a
8 tumor doesn't appear and they conclude that it
9 was mucus.

10 Have you seen that CT study?

11 A. I think I am aware of that, yes.

12 Q. How are you aware of it?

13 A. I don't know but I think I am aware of the fact
14 that they did think it was mucus.

15 Q. Would that cause you to conclude that that area
16 visualized on the CT Scan of October 10th, 1990
17 was not in fact a second primary tumor?

18 A. Yes, it probably would.

19 a. Okay. Now, the last sentence, this is what I
20 was getting to, "this was never pursued."

21 You don't have any criticism of the
22 diagnostic procedures and the staging procedures
23 that were done at Medina, do you?

24 1. No, I don't.

25 2. I mean they did a subsequent CT Scan, a triple

1 endoscopy, rhinohagoscopy, laryngoscopy, all of
2 those are proper procedures?

3 A Yes, they certainly are

4 Q The ~~X~~ witness is on any second primary tumor,
5 you're aware of that?

6 A Yes.

7 Q If there was such a second primary tumor, do you
8 have an opinion as to where it was located?

9 A No, I don't. I would feel it probably wasn't in
10 the head and neck area.

11 Q And what area would you expect it to be located
12 in?

13 A Some area below the clavicle, 12 to 15 percent
14 of head and neck tumors do originate from below
15 the clavicles.

16 Q Would you expect such a tumor to be shown on CM
17 study?

18 A It may or it may not.

19 Q It may or may not?

20 A Right. Plus, as I recall it, was there an
21 autopsy done?

22 Q No. There was not.

23 A That might have shed some light

24 Q Just skipping down, Doctor, you agree that it's
25 possible to ~~side~~ -- would you agree that it is

1 possible that the metastatic disease diagnosed
2 in 1990 in fact originated with the tongue
3 lesion?

4 MR. MURPHY: Objection.

5 A. I would say it is possible but highly
6 improbable.

7 Q. And I'm trying to understand what you conclude
8 the impact of a second primary tumor to be on
9 the probability of survival of Allan Boyd.

10 Do you have an opinion concerning that?

11 A. I don't understand the question. What do you
12 mean impact?

13 Q. Well, let me get to it this way.

14 Do you have an opinion concerning the size
15 of the tongue lesion that was present on
16 November 22, 1989?

17 1. I'm sorry, say that once more? Do I have --

18 Q. An opinion concerning the size of the tongue
19 lesion that was present on November 22, 1989?
20 If I recall, it was just a few millimeters.

21 Q. And that's taken from Dr. Brown's description?

22 A. Yes.

23 Q. Although we didn't know in November of 1989, we
24 know now that the tongue cancer was not totally
25 removed from Allan Boyd's tongue in 1989, do we

1 not?

2 A. No. We really don't, do we? All we know is
3 that it, on a subsequent biopsy it was proven
4 positive.

5 But that doesn't mean that it wasn't
6 completely removed necessarily with Dr. Brown's
7 biopsy and then there was a recurrence, not
8 necessarily persistence but a recurrence
9 adjacent to that area.

10 Q. Are you aware of facts and testimony in this
11 case that the site of the biopsy never healed?

12 MR. MURPHY: Objection.

13 A. No, I don't think I am.

14 Q. If the site of the biopsy remained sore and did
15 not heal, would that cause you to conclude that
16 not all of the tongue cancer was removed on
17 November 22, 1989?

18 A. Well, not necessarily. What would bother me is
19 the fact that Mr. Boyd never complained about
20 it. In fact he never mentioned it to anyone.
21 Certainly he didn't mention it to any of the
22 doctors.

23 Q. Well, he certainly did and he went in to see Dr.
24 ~~Perence~~ ^{Parsanko} at least in May of 1990 and we know
25 that, and he mentioned it to others. But that's

1 a different issue.

2 If the site did not heal, would that cause
3 you to conclude that not all the cancer had been
4 removed from the tongue on November 22, 1989?

5 A. Not necessarily.

6 Q. I understand not necessarily. To a reasonable
7 medical probability.

8 A. No. I don't think you can make that kind of a
9 sweeping statement.

10 Q. If the tongue cancer had not been totally
11 removed on November 22, 1989, would you expect
12 the tongue to heal?

13 A. No.

14 Q. If cancer cells remained in the tongue, squamous
15 cell cancer cells after November 22, 1989, the
16 tongue would remain sore and the healing would
17 not take place, correct?

18 A. It doesn't necessarily have to be sore, but
19 you're right, healing would not take place.

20 Q. Doctor, if it was the November, '89 tongue
21 lesion which metastasized, would you agree that
22 if diagnosed in November of 1989 and properly
23 treated, Allan Boyd would probably have been
24 cured?

25 MR. JACKSON: I object to that.

1 A. Is that what you're saying, if it was?

2 Q. If it was the tongue lesion which
3 metastasized --

4 A. Yes.

5 Q. -- would you agree that if diagnosed in November
6 of '89 and properly treated, Allan Boyd probably
7 would have been cured in this case?

8 A. Yes.

9 Q. You testified I think that Mr. -- well, strike
10 that.

11 You testified that you do have Dr.
12 Mendelsohn's report, correct?

13 A. Yes.

14 Q. And you've had the opportunity to discuss that
15 in part with Mr. Murphy, right?

16 A. Yes, I guess so. It wasn't much of a
17 discussion.

18 Q. Well, in your experience, are you or would any
19 physician be able to conclude that Allan Boyd's
20 tongue cancer had metastasized some years before
21 the biopsy in November of 1989, based on --

22 MR. MURPHY: Object. You can ask
23 what his opinion is but I don't know of his
24 opinions about other doctors' opinions.

25 MR. YOUNG: Could you read the

question asked?

(Mr. Murphy, the requested portion of the record was read by the Notary)

Q -- the size of the metastatic tumor which was found in the fall of 1990?

MR JACKSON: I'll object. You asked him two questions there, one. What Dr. Harr has an opinion and two, what Dr. Anx doctor could have an opinion.

Q Do you understand the question, doctor?
A Obviously cannot speak for an doctor. I think it is possible that there was metastasis before. That we're assuming now that the metastasis in the neck came from the tongue

Q Correct

A I think it is possible, if this assumption is correct, that it could have appeared before the biopsy of the tongue

Q That's said, doctor. But would you say that it could have happened several years prior to the biopsy of the tongue?

MR MURPHY: Objection.

A So that would be an absurdity that it would

1 lie there dormant physiologically for years.

2 That is a long time.

3 Q. All right. And we know that there were no
4 palpable lymph nodes in November of 1989,
5 correct?

6 A. Yes.

7 Q. And had it metastasized long before, we would
8 expect such nodes to be palpable, would we not?

9 A. Yes. That would be a reasonable conclusion.

10 Q. Doctor, let me take just one minute here.

11 A. All right.

12 MR. YOUNG: Doctor, I have nothing
13 further. John, do you have any questions?

14 MR. JACKSON: Not at this time.

15 MR. YOUNG: Doctor, thank you very
16 much for your time and I appreciate you not
17 going forward with Friday. We'll be down
18 to see you in about a week and talk to you
19 then.

20 MR. MURPHY: Exactly a week I
21 guess.

22

23

DONALD A. SHUMRICK, M.D.

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Linda A. Astuto, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named DONALD A. SHUMRICK, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____. A.D. 19 ____.

Linda A. Astuto, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires October 24, 1997

Mehl & Hagestrom

REPORTERS PAPER & MFG. CO LOUISVILLE, KY.

[illegible]