

ORIGINAL

1

1 MICHELLE MACK, administratrix of * THE STATE
2 the Estate of JENNIFER MACK, * OF OHIO,
3 Plaintiff * COUNTY OF CUYAHOGA
4 vs. * IN THE
5 UNIVERSITY HOSPITAL HEALTH * COURT OF
6 SYSTEM, INC., et al., * COMMON PLEAS
7 Defendants * Case No: 322444

8 - - - - -

9 Deposition of TERENCE L. SMITH, M.D. was
10 taken on Saturday, January 31, 1997, commencing at
11 10:42 a.m., at The Sheraton International Hotel, 7032
12 Elm Road, Linthicum, Maryland, before SHERRY L. MEYER,
13 Notary Public.

14 - - - - -

15 APPEARANCES:

16 DONNA TAYLOR-KOLIS, Esquire

17 On behalf of the Plaintiff

18 MARC W. GROEDEL, Esquire

19 On behalf of the Defendants

20 - - - - -

21 Reported by: SHERRY L. MEYER

Accurate & Dependable Reporters
Legal Video Specialists
Days - 410-367-3838



**ART
MILLER**
& Associates
COURT REPORTERS

Tops in Turnaround Time
Immediate-Daily-Expedited
Eves. - 410-367-3833

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21

INDEX OF WITNESSES

WITNESS	PAGE
TERENCE L. SMITH, M.D.:	
By Ms. Kolis:	3

INDEX OF EXHIBITS

EXHIBIT	PAGE
Smith Deposition Exhibit No. 1, Report prepared by Dr. Smith.....	85
Smith Deposition Exhibit No. 2, Dr. Smith's c.v.....	85
Smith Deposition Exhibit No. 3, Dr. Smith's notes...	85



P R O C E E D I N G S

Whereupon --

TERENCE L. SMITH, M.D.,

the witness herein, being first duly sworn to testify the truth, the whole truth, and nothing but the truth, was examined and testified as follows:

- - - - -

EXAMINATION BY MS. KOLIS:

Q. Doctor Smith, obviously you and I were just introduced. But for identification purposes, my name is Donna Taylor-Kolis. I've been retained to represent the Estate of Jennifer Mack.

It is my understanding from Attorney Marc Groedel that you have been retained to be the expert witness on behalf of Dr. Samuel Irefin. Accordingly, I've been given a report.

First, what I would like to do is hand you this report because we will mark this as an exhibit. Okay. Can you identify that this is the report that you've authored in this case, minus the highlights, of course, those are my --



1 A. Yes.

2 Q. We will end up marking that Exhibit A.
3 I'm going to use it for now. This morning I was given
4 a copy of your curriculum vitae. Could you just
5 examine it and identify it for the record?

6 A. Yes.

7 Q. That's in fact your c.v.?

8 A. Yes.

9 Q. Okay, that will end up being Plaintiff's
10 Exhibit B in this matter.

11 Doctor, as a preliminary matter, I want to
12 go through a little about your background training,
13 education. Your c.v., I guess, is pretty much
14 self-explanatory. It indicates that you obtained your
15 medical degree from Case Western Reserve in 1983. Is
16 that accurate?

17 A. Yes.

18 Q. Subsequent to that, you underwent one year
19 of internship at Cleveland Met General Hospital,
20 correct?

21 A. Uh-huh.



1 Q. Was that a rotating internship?

2 A. No, medicine.

3 Q. Straight medicine. Then it indicates that
4 from '84 to '86 that you did a residency in anesthesia,
5 is that an accurate statement?

6 A. Yes.

7 Q. Did you have any further training in
8 anesthesiology after 1986?

9 A. No.

10 Q. Okay. What year did you become board
11 certified in anesthesia?

12 A. I believe it was '87.

13 Q. Okay.

14 A. I'm not positive. It's '87 or '86.

15 Q. Okay. Did you sit one time and one time
16 only for your boards?

17 A. Yes.

18 Q. Okay. Fair enough.

19 Your c.v. then goes on to relate that you
20 are the chief of the Department of Anesthesiology at
21 Anne Arundel Medical Center, correct?



1 A. Correct.

2 Q. You started there in 1988, correct, at
3 that medical facility?

4 A. No, in 1986.

5 Q. Okay. Then I've -- I'm just misreading.
6 Okay.

7 From '86 to '88 you were a staff
8 anesthesiologist?

9 A. Yes.

10 Q. Then beginning in '88 you were the chair?

11 A. Yes.

12 Q. Chief of the department?

13 A. Yes.

14 Q. And you've retained that position ever
15 since?

16 A. Yes, ma'am.

17 Q. Okay. Publications are listed on the
18 bottom. One in 1980 and one in 1978, is that accurate?

19 A. Yes.

20 Q. Those were done during your residency, I
21 would assume? Well, one not. I'm sorry. Let me



1 withdraw that. The one in 1978 you were not yet in
2 medical school, correct?

3 A. I think I was just -- correct. That was
4 not in medical school.

5 Q. In 1980, you published an article, I see,
6 "Biochemical and Biophysical Research Communications"
7 was the name of the publication, correct?

8 A. Yes. Well, that's the name of the
9 journal.

10 Q. The journal. Excuse me.

11 A. Uh-huh.

12 Q. You've not published anything in
13 anesthesiology?

14 A. Correct.

15 Q. Do you at present have any teaching
16 responsibilities?

17 A. No.

18 Q. Okay. Are you on the staff of any medical
19 school?

20 A. No.

21 Q. For any purpose, whatsoever?



1 A. No.

2 Q. Okay, fair enough.

3 How frequently, Doctor, do you do
4 medicolegal work?

5 A. I think my frequency is two cases in the
6 past two years.

7 Q. Okay. Prior to two years ago, you did not
8 do medicolegal cases?

9 A. No.

10 Q. So two in your --

11 A. Two in my life.

12 Q. In your lifetime as a physician?

13 A. Correct. This is the second.

14 Q. Fair enough.

15 How did Mr. Groedel contact you, if you
16 have a recollection?

17 A. Are you asking me why did he contact me or
18 how?

19 Q. How is a stupid question because that
20 implies by telephone or mail. Let me ask a better
21 question.



1 Do you recall the circumstances of how it
2 is that Mr. Groedel came to contact you to review this
3 matter?

4 A. I don't know actually how he came to
5 contact me, if you're asking me why me instead of some
6 other witness.

7 Q. Okay. When do you recall being contacted?

8 A. I don't know exactly when, but
9 approximately six to twelve months ago.

10 Q. Okay. Do you have a correspondence file
11 that reflects your interactions with Mr. Groedel?

 A. Yes.

13 Q. Okay. Did you bring it with you today?

14 A. No, I did not.

15 Q. To the best of your recollection, when you
16 were initially contacted, what task were you given by
17 Mr. Groedel?

18 A. As I recollect, he asked if I would
19 review a medical record.

20 Q. Okay. Your report indicates that the
21 documents which you reviewed, in coming to the



1 conclusions I suppose that you did, were the medical
2 record of Jennifer Mack's emergency room visit at
3 Bedford; is that right?

4 A. Yes.

5 Q. The medical record of Jennifer Mack,
6 inpatient admission to University Hospital, correct?

7 A. Correct.

8 Q. And the deposition of Dr. Irefin?

9 A. Correct.

10 Q. Subsequent to the date which you wrote
11 this report, that apparently being September 23rd,
12 1997, have you reviewed any additional medical records?

13 A. No, I have not.

14 Q. Have you been made aware that subsequent
15 to Jennifer's death that it was confirmed through
16 testing that, in fact, she had Smith-Lemli-Opitz?

17 A. No, I did not know that.

18 Q. Does knowing today that this child had
19 Smith-Lemli-Opitz in any way affect and/or change any
20 opinion that you might have in this case?

21 A. I don't know what Smith-Lemli-Opitz is.



1 Q. Fair enough. Okay. Have you read any
2 additional depositions?

3 A. This morning I briefly read a report by
4 your expert witness.

5 Q. Okay.

6 A. That's the --

7 MR. GROEDEL: No other depositions.

8 A. No other depositions, excuse me.

9 Q. Fair enough. Have you asked to see the
10 depositions of any of the other hospital personnel who
11 were in attendance at Bedford Hospital?

12 A. I have not.

13 Q. Do you feel that you need to read them to
14 draw conclusions in this case?

15 MR. GROEDEL: Objection.

16 You may answer. You can answer.

17 A. I don't know.

18 Q. Okay. Fair enough answer.

19 Have you seen the chest film that was
20 taken of Jennifer Mack at Bedford Hospital?

21 A. I have seen it.



1 Q. Okay. When did you review that film?

2 A. I did not review it. I've seen the chest
3 film here this morning.

4 Q. Okay. I guess I don't want to -- when you
5 say you've seen it, you didn't review it, what are you
6 trying to impart to me about the film?

7 A. This morning I was shown the film in this
8 hotel room and looked at it briefly. I don't consider
9 it a review unless there's a hot light. And typically,
10 I review it with the radiologist.

11 Q. Okay. You just sort of looked up in the
12 lights, and you don't feel that that examination of the
13 chest film would be adequate for purposes of you
14 interpreting what is on the film?

15 A. No. If I were in a clinical situation I
16 would not rely on that to make any conclusive
17 decision-making.

18 Q. Okay. All right. Were you able to, in
19 looking at this chest film this morning, determine that
20 the tube was, in fact, in the child's esophagus?

21 A. No, not looking at the film.



1 Q. Okay. You have read the radiologist's
2 interpretation in the Bedford E.R. records?

3 A. Yes.

4 Q. Are you and I in agreement that the
5 radiologist's interpretation is that the film suggested
6 strongly that the tube was in the child's esophagus?

7 A. Yes.

8 Q. Okay. Fair enough.

9 Doctor, did you take any notes as you
10 prepared to write this report?

11 A. Yes.

12 Q. Okay. Did you retain those notes?

13 A. Yes.

14 Q. Okay. Are those part of your
15 correspondence file or do you have those with you
16 today?

17 A. I've taken notes in the past, as well as
18 recently. I have some of the notes with me.

19 Q. Could I see your notes? As long as they
20 are not on post-it notes. Okay, you've handed me a
21 sheet of paper, which I guess we will mark Plaintiff's



1 Exhibit C. Do you know when you took these notes?

2 A. I took these notes in the past two days.

3 Q. Okay. Were those for purposes of
4 reacquainting you with the facts of the case so that
5 you could testify today?

6 A. Yes.

7 Q. Okay. I will probably just look at those
8 for a second.

9 Prior to this particular case, it's my
10 understanding that you've served at least once before
11 as an expert witness, correct?

12 A. Yes.

13 Q. Can you tell me what kind of case it was?

14 A. It was a case in which the patient had a
15 cardiopulmonary arrest and suffered brain damage during
16 the arrest.

17 Q. Okay. And in that case you testified on
18 behalf of the patient?

19 A. Yes.

20 Q. Can you recall what attorney you did that
21 review on behalf of?



1 A. If I can ask my attorney --

2 MR. GROEDEL: George Abakumov.

3 Q. Okay. I bet you I know what case that
4 is. You gave a deposition in that case, yes?

5 A. Yes.

6 Q. Fair enough. That was in the last couple
7 of years, right?

8 A. Yes.

9 Q. Let's get to the important stuff. I've
10 got all of that out of the way.

11 One last silly question: Doctor, have you
12 yourself been sued for malpractice?

13 A. I have not.

14 Q. All right. In preparing this report or in
15 anticipation of the deposition, did you conduct any
16 independent medical research?

17 A. Can you be more specific in exactly what
18 you're asking me?

19 Q. Oh, sure. I guess I thought that was a
20 clear question. Maybe it's not.

21 In evaluating the issues that present



1 themselves in this matter through these sets of
2 records, did you conduct any medical research to
3 refresh your recollection as to what standards might be
4 in this setting?

5 A. Yes.

6 Q. Okay. When did you initially do medical
7 research for this case?

8 A. I don't recall. Sometime within the time
9 period between being asked to review the records and
10 today. Not recently.

11 Q. Okay. Did you do a medline search or did
12 you use a textbook? Can you recall for me what you
13 looked at?

14 A. I contacted the American Society of
15 Anesthesiologists and I spoke with the ear, nose and
16 throat surgeons.

17 Q. Okay. Let me ask the question this way
18 then: Why did you contact the American Association of
19 Anesthesiologists? In other words, what information
20 were you looking for from them?

21 A. I contacted them to verify that there had



1 been no new standards or guidelines published of which
2 I wasn't aware.

3 Q. Okay. So you were just checking to see if
4 there were additional standards that you were unaware
5 of? Am I restating that correctly?

6 A. Correct.

7 Q. That, I guess, implies to me that you were
8 aware of a certain set of standards at a certain time,
9 past which you were concerned there might be new ones,
10 if that made any sense? When prior to being contacted
11 for this case was the last time you looked at the
12 standards that were set by the American Association of
13 Anesthesiologists?

14 A. Sometime within months of the time that I
15 was asked to do it. I can't tell you exactly when.

16 Q. Okay. So you are aware that there are
17 printed standards that are generated by the American
18 Association of Anesthesiologists regarding emergency
19 intubations, is that a fair statement?

20 A. Actually, I wasn't aware that there were
21 any printed guidelines or standards regarding emergency



1 intubations.

2 Q. Okay. Then you indicated that you
3 discussed this case with ENT surgeons, I'm paraphrasing
4 what I thought I heard you say?

5 A. I did. Parts of the case, yes.

6 Q. Can you tell me why you did that?

7 A. I was reviewing the operative reports from
8 Rainbow Babies and Children's Hospital that was written
9 by ENT surgeons, and I wanted to verify that I, in
10 fact, was correctly interpreting their findings.

11 Q. So you sought some additional advice from
12 people who are not in your field, just to clarify the
13 material from the RBC records, is that accurate?

14 A. Right. From people -- records, reports
15 from people who are not in my field, correct.

16 Q. Okay. Fair enough.

17 Is there any textbook that you consider in
18 the area of anesthesia to be authoritative?

19 A. Miller's Textbook on Anesthesiologists.

20 Q. Okay. Do you have an opinion about
21 clinical anesthesia which is edited by Barash, Cullen &



1 Stoelting?

2 A. I don't have an opinion about it.

3 Q. Okay. Fair enough. Do you consider the
4 advanced cardiac life support guidelines as
5 authoritative, in general, as to how to deal with
6 emergency situations?

7 A. Yes.

8 Q. And can I determine by the fact that you
9 contacted the American Association of Anesthesiologists
10 that you would consider their standards for basic
11 anesthetic monitoring to be authoritative?

12 A. I guess I should ask what do you mean by
13 authoritative?

14 Q. To tell you the truth, I don't know. It's
15 a term of art we use in Ohio, I suppose. In other
16 words -- let's try to ask it, I guess in laymen's
17 terms. What value are the basic standards for
18 anesthetic monitoring to you in your standard practice?

19 A. In my practice, the value is to give me a
20 minimum set of standards to use in determining how to
21 run my practice.



1 Q. Okay. Great. Let me ask you a couple of
2 other questions about what you do on a daily basis.

3 Doctor, do you intubate patients in the
4 emergency room?

5 A. On a daily basis?

6 Q. Okay. Let me rephrase it. Is it part of
7 your practice as the chief of anesthesiology to
8 participate in intubations in the emergency room?

9 A. Yes.

10 Q. Okay. With what frequency have you
11 participated in emergency room intubations in the last
12 two years?

13 A. I can't give you an exact number
14 accurately.

15 Q. Okay. I wouldn't ever go obviously and
16 count them, but can you give me a ball park as to how
17 many you feel you may have participated in, a range?

18 A. In the past two years? Perhaps ten.

19 Q. Okay.

20 A. Or less. And that is strictly an
21 estimate.



1 Q. All right. Is Anne Arundel a pediatric
2 hospital?

3 A. We do pediatric cases.

4 Q. Okay. But it's not like RBC, right?

5 A. It's not a Children's Hospital exclusively
6 for children, correct.

7 Q. In the past two years, what has been your
8 experience level in intubating pediatric patients, just
9 ball park?

10 A. How many have I intubated?

11 Q. Uh-huh.

12 A. Maybe 200.

13 Q. Okay. Would you explain --

14 A. One thing -- to completely answer a
15 previous question I forgot --

16 Q. Sure.

17 A. I also in terms of doing research, I did
18 also contact other specialists.

19 Q. Okay. What kinds of other specialists did
20 you contact?

21 A. I contacted staff physicians at Washington



1 Children's Hospital. That's why I thought of it.

2 Q. Can you tell me, when you say staff
3 physicians, are you talking about pediatricians or
4 anesthesiologists?

5 A. Pediatric anesthesiologists.

6 Q. Okay. Can you tell me why you elected to
7 contact pediatric anesthesiologists in this matter?

8 A. Related to my previous statement that I
9 contacted the American Society of Anesthesiologists, to
10 ask if they had guidelines for emergency intubations.
11 In particular, use of carbon dioxide monitors during
12 those emergency intubations. I was told that, no, they
13 have no guidelines or specific recommendations towards
14 those. And subsequently to that, I contacted
15 Washington Children's Hospital specifically to ask them
16 if it's part of their standard of care.

17 Q. If the use of CO2 monitors is part of the
18 standard of care? Is that the question you were
19 seeking an answer to?

20 A. The use of the CO2 monitors for emergency
21 off operative site intubations.



1 Q. That's just something that you weren't
2 comfortable with or weren't aware of, I guess? Is that
3 an accurate statement?

4 A. I felt that I knew what the standard of
5 care was. But when I read the deposition of Dr.
6 Ireffin, I believe you or someone else in the deposition
7 had asked him if there was a standard by the American
8 Society of Anesthesiologists for the use of these
9 monitors during intubations, such as the one that is at
10 issue in this case. And I believe that there was not.
11 And I was trying to verify whether or not I was correct
12 in that belief.

13 Q. Okay. Fair enough.

14 Let me ask you, Doctor, even though it's
15 completely out of sequence of where it would be in my
16 questions, is the use of a C02 monitor an issue in this
17 case, in your mind?

18 MR. GROEDEL: Objection. What do you mean
19 by --

20 MS. KOLIS: Okay. Let me delete that out.

21 Q. You've indicated that you were making some



1 inquiries of other physicians to determine whether or
2 not CO2 monitors were standard for usage of off
3 operative site intubations. I think that's the phrase
4 you used. Let's just establish this as a baseline.
5 What I think you mean is that obviously they are used
6 in the operating suite?

7 A. Correct.

8 Q. Okay. So you just wanted some
9 clarification as to what, I don't like calling doctors
10 in the industry, but what the practice of the
11 anesthesiologist was generally regarding using them in
12 an emergency room situation?

13 A. Correct.

14 Q. When I asked you if you believed that the
15 use of a CO2 monitor was a question, is it clear to
16 you --

17 A. Was a question?

18 Q. Let me try to phrase it this way. Is it
19 clear to you first of all from reading the medical
20 records at what point in time during this child's
21 emergency a CO2 monitor was used?



1 A. From reading the medical records, yes.

2 Q. Okay. From reading the medical records
3 alone, what period of time do you believe that a C02
4 monitor was used?

5 A. I believe that the C02 monitor in this
6 case was used intermittently during the case, from the
7 time of the intubation until the transfer of the
8 patient from Bedford to Rainbow Babies and Children's.

9 Q. Okay. Let me ask it this way. Now I was
10 pretty specific in my reference in this question that I
11 was seeking the answer based on the medical records
12 alone.

13 What in the medical records supports for
14 you that the C02 monitor was used intermittently from
15 the time of the intubation? I'm assuming we are
16 talking about the initial intubation through transfer.
17 Can you point to me what in the medical records
18 supports that contention?

19 A. The thing that I recall most immediately
20 is Dr. Douglas' record, summarizing the management of
21 the case at Bedford.



1 Q. Does Dr. Douglas' record which summarizes
2 the management indicate to you that a C02 monitor was
3 used prior to the arrest? You can look at the records
4 obviously.

5 MR. GROEDEL: Does his note, you mean?

6 MS. KOLIS: Right, Dr. Douglas' note.
7 That's what he said he was recalling.

8 Q. I'm assuming you mean his transcribed
9 summarization?

10 A. Yes, I will give you the exact time.

11 I would note that the C02 monitor was
12 used during the arrest. The end tidal tube was placed
13 in the trachea, according to the C02 detector. I guess
14 that is the line that I was thinking of. And you had
15 specifically asked me based on the records.

16 Q. Right, based on the records. Solely based
17 on the records, what conclusion can you draw as to the
18 usage of the C02 monitor?

19 A. This is the only part of the record that I
20 recall, referring to it. And this particular sentence
21 refers specifically to the arrest. Okay.



1 Q. Would you agree with me that the medical
2 documentation itself does not indicate the usage of a
3 C02 monitor at any other time?

4 A. Not that I can recall.

5 Q. Okay. Do I gather that your inference
6 that was used intermittently from the initial
7 intubation until the time of transfer came from your
8 reading of Dr. Ireffin's deposition?

9 A. That's correct. That's what I conclude
10 also.

11 Q. Okay. All right. Fair enough.

12 Let me ask you a question since we are at
13 that place. If a C02 monitor was in fact in use from
14 the time of the arrest until the time of the transfer,
15 okay, are you with me?

16 A. Yes.

17 Q. Sure. Okay. Do you believe, based upon
18 the series of events that occurred from the time of the
19 arrest to the trach, that the tube was in the child's
20 trachea?

21 A. I'm sorry, can you restate it?



1 Q. Sure. Do you have an opinion, medical
2 opinion, as to whether from the time of the arrest
3 through the time of the trach as to where the tube
4 actually was? Let me ask it that way.

5 A. From the time of the arrest until the time
6 of the trach, my opinion is that for part of the time,
7 the endotracheal tube was in the trachea, and at some
8 point it became dislodged.

9 Q. Okay. What do you base that opinion on?

10 A. I base that opinion on Dr. Ireffin's
11 statement that at the beginning of the patient's
12 deterioration, and it wasn't quite clear to me if it
13 was at the beginning of the arrest or just before the
14 arrest, he did a direct laryngoscopy to confirm that
15 the endotracheal tube was still in place. And then
16 continued to monitor the patient during the
17 resuscitation. And at some point, concluded that it
18 was possible that the tube had been dislodged, and did
19 another direct laryngoscopy during which time he
20 concluded that the tube was out of the trachea.

21 Q. Okay. So that I'm clear in my mind, I



1 know that this is going to be a very pendentive
2 deposition, but you are basing your belief as to the
3 sequence of medical events based on Dr. Ireffin's
4 statement from his deposition, am I phrasing that
5 accurately?

6 MR. GROEDEL: Well, alone?

7 MS. KOLIS: That's what I'm trying to find
8 out.

9 A. In terms of the time frame, I'm basing it
10 on that, and the other supporting statement by Dr.
11 Douglas, which says that the carbon dioxide indicated,
12 during the arrest, indicated that the endotracheal tube
13 was in.

14 Q. If the endotracheal tube was in the
15 trachea, from the time of the arrest up until the time
16 of the trach, what explanation have you for the fact
17 that Jennifer's sats didn't come back up?

18 MR. GROEDEL: Objection. You realize that
19 is not what he testified to previously. His testimony
20 was that the tube was out of the trachea during the
21 portion of that arrest.



1 Q. Such a small set of medical records and so
2 many questions. Let me rephrase it so it is a more
3 fair question.

4 Can you with any certainty, based upon the
5 medical records or Dr. Ireffin's deposition, determine
6 for what period of time the tube was in the esophagus
7 between the arrest and the trach?

8 A. No, I cannot give you an exact time.

9 Q. Okay. If you cannot give me an exact
10 time, have you an opinion, to the best of your ability,
11 as a physician, based on probability as to how long the
12 tube was in the esophagus during that time frame alone,
13 from the arrest to the time of the trach?

14 A. Can you ask me that again? I'm sorry.

15 MS. KOLIS: Can you read that back?

16 (Whereupon, the requested section was read
17 by the Reporter.)

18 A. My opinion is that it was relative to the
19 duration of the resuscitation. A relatively short time
20 period that the endotracheal tube was out of the
21 esophagus just prior to the cricothyroidotomy.



1 Q. So you think for the majority of the time
2 from the arrest to just before the trach that the tube
3 was actually in the trachea?

4 A. Yes.

5 Q. I'm not trying to reverse it, but that's
6 what you're saying?

7 A. Yes.

8 Q. All right. Do you have an opinion to a
9 reasonable degree of medical probability as to if the
10 set scenario you're giving me, that the tube was in the
11 trachea for the majority of time, except until just
12 before they did the trach, why didn't her sats come up
13 during that time?

14 A. I don't know exactly why they didn't come
15 up.

16 Q. Okay. If you don't know exactly, as a
17 physician who's being asked to testify in this case,
18 what is your best medical opinion as to why the sats
19 did not come back up?

20 MR. GROEDEL: Objection to the form of
21 that question, but you can answer.



1 A. Okay.

2 Q. Or do you have an opinion to a reasonable
3 degree of medical probability as to why those sats
4 didn't come back up?

5 MR. GROEDEL: That's a better question.

6 A. I think for me there are two possible
7 areas that can account for her sats not coming up.

8 One, is if she had intrinsic pathology in
9 her lung parenchyma. And the other possible
10 explanation is that she had an upper airway problem
11 that was below the vocal cords, which was interfering
12 with ventilation, despite the fact that the
13 endotracheal tube was in the trachea.

14 Q. Okay. You said those are the two
15 possibilities, correct? I don't want to misstate it.

16 A. That's to me the most reasonable direction
17 to take in explaining why her pulse oximeter didn't
18 have higher readings prior to the cricothyroidotomy.

19 Q. Is there any support, whatsoever, in the
20 medical records that you've reviewed to support that
21 there was intrinsic pathology in the lungs that was



1 causing the sats to remain low?

2 A. The only record that I've seen, which
3 supports the possibility that there was pathology in
4 there, at least contributing to the low saturations.
5 I'm not sure if it indicates that it caused it 100
6 percent as the results of the chest X-ray which
7 indicate that there's bilateral opacification in her
8 lung fields.

9 Q. You're discussing the chest X-ray that was
10 done at Bedford, correct?

11 A. Correct.

12 Q. In terms of the upper airway obstruction
13 that was below the vocal cords, what support do you
14 have for that possibility?

15 A. The strongest support I think of that
16 possibility is in the results of the tracheoscopy and
17 laryngoscopy that were done at Rainbow Babies and
18 Children's in which they identify subglottic narrowing.

19 Q. A subglottic stenosis, is that what you're
20 discussing?

21 A. To be more precise, they identify a



1 subglottic narrowing consistent with subglottic
2 stenosis.

3 Q. Doctor, would you agree with me that
4 subglottic narrowing that approximates the medical
5 definition of subglottic stenosis, is a finding which
6 can be seen after extubation?

7 A. Yes, it can be.

8 Q. Okay. And I probably should have asked
9 the whole question a little more precisely. Are you in
10 agreement with me that based on your experience,
11 training, knowledge and/or review of the medical
12 literature that that subglottic narrowing and/or
13 stenosis which is found at the time of extubation can
14 be caused by the intubation itself?

15 A. I have to say can you repeat it? I'm
16 sorry.

17 Q. I'm going to impose on my Court Reporter,
18 and let her read it back.

19 (Whereupon, the requested section was read
20 by the Reporter.)

21 A. Can I ask, do you mean specifically for



1 this case, or in general?

2 Q. We can go in general.

3 A. In general, the subglottic narrowing found
4 at the time of an extubation, can be secondary to the
5 extubation itself.

6 Q. And in this case, what would your answer
7 be?

8 A. I don't believe that in this case the
9 subglottic narrowing was secondary to the intubation
10 itself.

11 Q. What do you believe it was secondary to or
12 primary of, which you must have an opinion about it?

13 A. I don't have an opinion about exactly what
14 the cause of the subglottic narrowing was. There are
15 possibilities.

16 In particular, the patient has a history
17 of multiple episodes of croup, and also a history of
18 multiple congenital abnormalities, leading me to
19 hypothesize that it's possible that she could have also
20 had congenital subglottic narrowing. She has been
21 intubated previously for other surgeries. And it's



1 also conceivable that she could have developed
2 subglottic narrowing subsequent to those surgeries.

3 Q. Okay. But you're just not certain?

4 A. Personally, I don't feel that anyone can
5 know for sure, especially since the patient is
6 deceased, exactly what the cause of that was.

7 However, since the time interval during
8 which she was intubated through her tracheostomy at
9 Rainbow Babies and Children's was two days. I think it
10 unlikely that she would develop subglottic stenosis in
11 that time interval, secondary to the intubation that
12 she had.

13 Q. Okay. All right. Let me ask you --

14 A. At that time.

15 Q. Okay. Fair enough. I didn't mean to cut
16 you off. Let's stick with that issue, just for the
17 moment. Is it fair to say that within two minutes of
18 being trached that her sats went back to almost 100
19 percent? You can look at the records.

20 A. As I recall the record, I'm not sure two
21 minutes was the exact time, but there was a short,



1 relatively short time interval after the
2 cricothyroidotomy after which she responded to the
3 resuscitation.

4 Q. Okay.

5 A. There is a disagreement about it but --

6 Q. Her sats came up, she lost her cyanosis?

7 A. Yes.

8 Q. Her vital signs were relatively stable.

9 Does that comport with your review of the records?

10 A. Yes.

11 Q. Okay. Given that scenario, does that not
12 mitigate against the fact that there would have been
13 intrinsic pathology in the lungs that caused the
14 saturations to be low?

15 A. It does not support it.

16 Q. Does it support that she had a subglottic
17 narrowing which was causing the problem?

18 A. It could lend support to that, yes.

19 Q. In what way do you believe that that lends
20 support to the fact that it would have been subglottic
21 narrowing that would have been the cause of the low



1 sats?

2 A. If the subglottic narrowing was
3 interfering with the ventilation or oxygenation by the
4 endotracheal tube and the cricothyroidotomy bypassed
5 the area which was causing that problem, it's possible
6 that that would explain the findings.

7 Q. Do you have any way of knowing whether, in
8 fact, it bypassed this area of narrowing?

9 A. Only the indication that the patient
10 appeared to respond subsequent to doing the
11 cricothyroidotomy.

12 Q. Okay. Let's skip to a different issue.

13 Do you have an opinion, Dr. Smith, to a
14 reasonable degree of medical probability as to what
15 period of time between the initial intubation and the
16 arrest that the tube would have been in her esophagus?

17 MR. GROEDEL: Objection. I think he can
18 answer that question now in terms of the initial
19 intubation because -- up until the arrest, I'm sorry.

20 MS. KOLIS: I already did from the
21 arrest Now from the first intubation to the time of



1 the arrest.

2 MR. GROEDEL: You're right. Go ahead.

3 MS. KOLIS: That's okay. We are both
4 tired.

5 A. Do I know how long the patient had an
6 esophageal intubation?

7 Q. Yes.

8 A. I have an opinion that it was a relatively
9 short time.

10 Q. Relatively short time. And I don't mean
11 to be sarcastic.

12 A. That's okay.

13 Q. Two seconds to 25 seconds to 200 seconds.
14 Relatively short is not going to help me. I want to
15 know if that's the best answer you can give me.

16 A. I would indicate or surmise that the
17 patient had an esophageal intubation less than one
18 minute.

19 Q. Why do you surmise that?

20 A. Because Dr. Irefin and Dr. Douglas were,
21 as I understand it, with the patient recognized that



1 the patient appeared to not be ventilated and
2 reintubated her.

3 Q. Let's see where you get your understanding
4 from. First of all, you do not know what Dr. Douglas
5 testified to, correct?

6 A. Correct.

7 Q. You have not been told what he testified
8 to?

9 A. I have not.

10 Q. You do not know what Nurse Thomas Sharp
11 testified to, correct?

12 A. Correct.

13 Q. All right. And you haven't been told what
14 he testified to?

15 A. No, I have not.

16 Q. Earlier you recalled reading Dr. Douglas'
17 dictated and transcribed summary, correct?

18 A. Yes.

19 Q. Do you have a recollection, and certainly
20 you can refer to the record, that Dr. Douglas opines or
21 relates, I guess is a better statement for it, that



1 following the initial intubation, that the patient
2 deteriorated, did not do well over the next ten
3 minutes, do you recall that statement?

4 A. No.

5 Q. Okay. I will let you look.

6 A. All right.

7 Q. Maybe I can find it quicker, since I
8 probably have it highlighted.

9 A. Yes, I found it.

10 Q. Okay. Did you find it in the typed note?

11 A. Yes.

12 Q. What phrase are you reading so that at
13 least we can be at the same starting point?

14 A. Under treatment, "Patient did rather
15 poorly over the next ten minutes," is that what you're
16 referring to?

17 Q. Do you know what Dr. Douglas meant when he
18 wrote in the chart, "The patient did poorly over the
19 next ten minutes?"

20 A. I don't know the specifics of what he's
21 referring to.



1 Q. Okay. Is it important to you in
2 formulating your answers to these questions to know
3 what Dr. Douglas meant when he said "did poorly?"

4 A. Can you restate that?

5 Q. I'm not sure how else I can restate it.
6 The simple question is, first of all, I guess you're
7 answering you don't know what Dr. Douglas means when he
8 puts in the record that the patient did poorly over the
9 next ten minutes?

10 A. Right.

11 Q. I'm asking you if you need to know what he
12 means by "did poorly" over the next ten minutes to come
13 to definitive conclusions in this matter?

14 MR. GROEDEL: About whether the standard
15 of care was met, as to what issue? It's a pretty vague
16 question.

17 MS. KOLIS: You're right, it's a vague
18 question, absolutely, Marc. We will make it nice and
19 specific.

20 Q. First of all, do you need to know what Dr.
21 Douglas meant when he said, "did poorly over the next



1 ten minutes," in terms of arriving at a conclusion as
2 to how long the patient was esophageally intubated?

3 MR. GROEDEL: First of all, I'm not sure
4 that that statement implies that the patient did poorly
5 over the next ten minutes after the initial
6 intubation. One could perhaps draw that conclusion,
7 but I'm not sure that's correct. But go ahead.

8 A. Relative to answering your question, as I
9 read this, Dr. Douglas says the ET tube became
10 dislodged and had to be replaced. Indicating to me
11 that that happened initially in that -- he's not
12 specific, because then later he says ten to fifteen
13 minute interval. And had to be displaced. Then
14 subsequently after the -- excuse me had to be
15 replaced. After the tube was replaced, it became
16 difficult to get the patient's saturation up above 60.
17 Even after the ET tube was replaced.

18 So at sometime during that ten to fifteen
19 minute interval to which he referred to prior to
20 arrest, they are having difficulty getting the
21 patient's saturation above 60. As I understand this



1 chart, it indicates to me that that was primarily
2 subsequent to the second intubation.

3 Q. Okay. Let me try to break this down.

4 Do you agree with me that to some extent
5 that this is a difficult chart because of the lack of
6 documentation on the chart?

7 A. Yes.

8 Q. Okay. Do you have an opinion, Doctor, to
9 a reasonable degree of medical probability as to
10 whether Dr. Ireffin's initial intubation of the patient
11 was successful?

12 A. Yes, I believe that it was successful.

13 Q. Okay. Is part of the basis for which you
14 believe that it is recorded in the chart, that after
15 that initial intubation her sats went up to about 99?

16 A. Yes.

17 Q. Okay. Do you believe that in some fashion
18 the tube became dislodged from the trachea prior to the
19 second intubation?

20 A. Yes.

21 Q. Do you believe at that point that the tube



1 was in the esophagus?

2 A. Prior to the second intubation?

3 Q. Yes.

4 A. Yes, I believe it was in the esophagus.

5 Q. How long in a child of Jennifer's size
6 does a tube need to be in the esophagus before it will
7 cause cardiac arrest?

8 MR. GROEDEL: Assuming no other problems
9 or --

10 A. First of all, to be specific, the tube in
11 her esophagus itself, as an individual isolated event,
12 does not cause cardiac arrest. Okay. The lack of
13 ventilation or oxygenation during that time will.
14 Okay.

15 I would expect, if the patient is not
16 being oxygenated, that she would probably have
17 bradycardia and cardiac arrest, sometime between four
18 and six minutes.

19 Q. Okay. After the, do you know, all right,
20 do you know what it is that caused the reintubation to
21 occur, what brought to Dr. Irefin's attention the need



1 for reintubation?

2 A. As I understand it, the patient's
3 saturations -- in a more complete statement, all of the
4 things that were being used to monitor the patient
5 indicated that the patient was no longer intubated. As
6 I understand it, in particular, the chest wall movement
7 during ventilation, the pulse oximeter and the breath
8 sounds, which were intermittently monitored, indicated
9 that the tube may have become dislodged. And
10 subsequently, he did a direct laryngoscopy, which
11 confirmed that, and reintubated the patient.

12 Q. The fact that he did a direct laryngoscopy
13 to do the second intubation is information that you
14 gleaned from Dr. Ireffin's deposition, correct?

15 A. I did glean that. But as well, I don't
16 know of any other way that he could do the intubation.

17 Q. What if he simply repositioned the tube?
18 Is there a difference in your mind as an
19 anesthesiologist, when I say in your mind, I should
20 probably eliminate that phrase --

21 A. Certainly.



1 Q. Is there a difference between reintubation
2 and repositioning?

3 A. Yes.

4 Q. Define for me what repositioning is.

5 A. I believe that -- it depends on the
6 context of the statement. However, in the context
7 of -- I assume that you're pointing out, repositioning
8 would indicate that the tip of the endotracheal tube
9 was still through the patient's vocal cords. And that
10 it was adjusted farther or -- not farther, but in or
11 out of the cords, through the cords, as opposed to
12 replacement, which would indicate that the endotracheal
13 tube tip was actually out of the cords and lying in the
14 patient's pharynx or esophagus, and needed to be stuck
15 back through the cords.

16 Q. Okay. Based upon the way that the chart
17 is documented, forget Dr. Irefin's depo for a second,
18 the second intubation, was it a reintubation, meaning
19 that the tube was out lying or was it a repositioning?

20 MR. GROEDEL: Objection. You may answer.

21 A. My interpretation from reading the chart



1 is that it was a reintubation.

2 Q. Okay. From reading Dr. Irefin's
3 deposition, what do you believe he could see on direct
4 laryngoscope?

5 A. I believe that he could see the patient's
6 true vocal cords.

7 Q. Okay. If he can see the true vocal cords
8 is that a sufficient vision for an anesthesiologist to
9 be able to determine that he had placed the ET tube in
10 the trachea?

11 A. It's sufficient vision for him to confirm
12 that he's placed the endotracheal tube in the larynx
13 with the assumption that it's going into the channel
14 that leads into the trachea.

15 Q. In this case it would have to be an
16 assumption only since he testified that he couldn't see
17 past the true vocal cords, correct?

18 A. Yes.

19 Q. Okay. Fair enough. All right. Let me
20 switch gears again. I love to switch gears. I always
21 prepare like 100 questions and ask three of them.



1 After the second intubation, I think that
2 is what we have established, that you believe based on
3 the state of the record that it was a reintubation, do
4 you agree with me that it was evident that her sats
5 were not coming back up after that intubation?

6 A. Yes.

7 Q. How long does it take an anesthesiologist
8 to know that he does not have an ET tube presumptively
9 in the trachea?

10 A. I would say anywhere from instantly to one
11 minute.

12 Q. And one minute would be pretty far
13 outside, do you agree with that?

14 A. Yes.

15 Q. It was a stupid question because I use
16 such street language.

17 A. What I would expect is a reasonable range
18 to determine whether or not the patient is intubated.

19 Q. Do you agree with me that, and we also
20 know there's no such thing as black and white in
21 medicine?



1 A. Or in law.

2 Q. There's no black and white in law, I can
3 assure you of that. As a general matter, 20 to 30
4 seconds is the expected range for an anesthesiologist
5 to reach some comfort level that they have gotten that
6 intubation, do you agree with that?

7 A. I would expect them to, yes.

8 Q. Do you want to qualify it?

9 A. It depends on what criterion is being used
10 to finally arrive at the conclusion that the patient is
11 intubated.

12 Q. When you say it depends on what criterion
13 is being used, can you expound upon what you're
14 indicating to me by that answer?

15 A. If the patient is easy to intubate, and by
16 that, I mean, easy to visualize their larynx, the
17 glottis, and the endotracheal tube is placed, then at
18 the time of intubation, the anesthesiologist is
19 concluding that the patient is intubated.

20 So basically, in that situation, the
21 endotracheal tube is known immediately to be in the



1 larynx and/or trachea. And subsequently, listening to
2 breath sounds, pulse oximeter readings, end tidal
3 carbon dioxide monitors, are confirming that or
4 supporting it, if in fact the patient has a difficult
5 airway, and one cannot visualize the trachea, but is
6 intubating in conditions that make that basically a
7 blind placement.

8 Q. Do you consider what occurred in this case
9 a blind placement?

10 A. No.

11 Q. Okay.

12 A. If it's a blind placement, then
13 confirmation of breath sounds, end tidal carbon dioxide
14 readings and pulse oximetry response are more important
15 in concluding that the patient is, in fact, intubated.
16 And they are important, because one is not able to
17 visualize the intubation.

18 Q. Did you think that Dr. Irefin, based on
19 his testimony, could visualize the intubation?

20 A. Yes.

21 Q. What makes you think that?



1 A. He states that he could in his
2 deposition.

3 Q. Okay. Go ahead.

4 MR. GROEDEL: There's no question.

5 Q. You were done with your answer?

6 A. (Witness nodding head.).

7 Q. Do you have an opinion at what point in
8 time the chest X-ray was taken of this patient?

9 A. Yes.

10 Q. When do you think the chest X-ray was
11 taken and what is your basis for it?

12 A. The chest X-ray -- give me a second here.

13 Q. Sure.

14 A. Sometime within the first five minutes or
15 so after the initial intubation.

16 Q. What makes you think that?

17 A. Because the time between the arrest and
18 the initial intubation was only ten minutes, as I
19 understand it, approximately. And actually, there's
20 conflicting reports in my mind of exactly when the
21 first intubation occurred, and within plus or minus,



1 five minutes. And when the arrest occurred. But in
2 any event, sometime shortly after the initial
3 intubation, the chest X-ray was taken.

4 Q. Okay. I'm asking you why you believe
5 that.

6 A. I believe it primarily because there
7 wasn't much time to begin with. And it was taken
8 before the arrest occurred, and after the first
9 intubation.

10 So I'm estimating that it was probably
11 five minutes, plus or minus a couple of minutes. That
12 almost covers the whole time interval. Even though the
13 longest time interval is being speculated as to the
14 time between initial intubation and arrest.

15 Q. Following the second intubation, I assume
16 you're in agreement with me that the records show that
17 her sats didn't improve, they continued to drop?

18 A. Correct.

19 Q. Given that scenario, isn't it more likely
20 than not, medically, that when she was reintubated she
21 was reintubated into the esophagus?



1 A. No, I don't think it's more likely.

2 Q. You do not?

3 A. No.

4 Q. Why would you say that, what is your
5 basis?

6 A. For, I guess, two reasons.

7 Number one, Dr. Irefin never indicates
8 that he had a difficult time intubating the patient.
9 And clearly, everyone agrees that he intubated her -- I
10 should qualify that. He had a difficult time placing a
11 certain sized tube. But never indicated that he had a
12 difficult time visualizing the glottis. And he did
13 intubate her successfully with a small caliber in the
14 endotracheal tube initially. I have no reason to
15 believe that in the time frame that we are talking
16 about that her airway changed significantly, to make it
17 more difficult for him to repeat that, if the
18 endotracheal tube came out.

19 And the other reason that I anticipate
20 that he intubated her was because he was there. And I
21 assume that he would do all of the things that he did



1 initially to verify the intubation the second time. In
2 particular, visualization of the larynx, bilateral
3 chest sounds, chest wall movement, all of those things.

4 Q. Doctor, we are going to talk a little bit
5 about that answer. You indicated you're assuming he
6 did all of those things. Do I gather you have to
7 assume them because they are clearly not charted, that
8 any of those things occurred after the second
9 intubation?

10 A. They are not charted, that's correct.

11 Q. Okay.

12 A. They are part of his deposition, I
13 believe.

14 Q. Correct. They are. But they are not
15 charted, right?

16 A. Correct.

17 Q. Well, if he got her intubated in the
18 trachea, why did the sats keep plummeting?

19 A. As I said earlier, the explanation, I
20 don't have an explanation that I can prove, but my
21 interpretation is that she had an upper airway problem



1 or atelectasis.

2 Q. Would the tube being in the esophagus also
3 be an explanation equally plausible for the cause of
4 the saturations continuing to decline just before she
5 went bradycardic?

6 A. If in fact the endotracheal tube had been
7 in the esophagus, that would account for these
8 findings.

9 Q. Okay. Once again, just to beat a dead
10 horse because I like to have records that are clear,
11 you see no indication in the charting subsequent to the
12 second intubation of the use of a C02 monitor, do you?

13 MR. GROEDEL: Wait a minute. I think he
14 already answered that.

15 A. In the charting.

16 Q. Subsequent to the second intubation but
17 before the arrest. I need to throw that phrase in
18 there.

19 A. Right.

20 Q. I will object to that. But go ahead, you
21 can answer.



1 A. Yes, I think that actually is basically
2 the same question that you asked me earlier, which I
3 think in the charting the only specific reference to
4 the C02 monitor is by Dr. Douglas. And he, in his
5 phraseology refers specifically to that, to the
6 arrest.

7 Q. Only?

8 A. Indicating that it was used after the
9 patient lost sinus rhythm and was receiving CPR.

10 Q. When the second intubation occurred
11 Jennifer was given a neuromuscular block medication,
12 correct?

13 A. Yes.

14 Q. Was it Pavulon?

15 A. Yes.

16 Q. Pavulon would prevent her from being able
17 to spontaneously breathe, right?

18 A. Yes.

19 Q. So if she was esophageally intubated,
20 hypothetically, at that point, and had been given
21 Pavulon, would you agree with me that given that



1 scenario and then a cardiac arrest she would have been
2 anoxic, not hypoxic at that time?

3 A. Can you just explain to me what you mean
4 by the difference between hypoxia and anoxia?

5 Q. Sure when I finish medical school. I'm
6 sorry, I didn't mean to be flippant.

7 A. I want to make sure I understand exactly
8 what you're asking me.

9 Q. Do you differentiate between anoxia and
10 hypoxia occurring? Do you have a medical
11 differentiation for yourself, let's start with that?

12 A. Anoxia indicates that there's no oxygen
13 present.

14 Q. Right.

15 A. Hypoxia indicates that there's low oxygen
16 present.

17 Q. So you and I are speaking in the same
18 language?

19 A. It's a trivial difference.

20 Q. That's what I meant. Assuming my
21 scenario, that this child is esophageally intubated at



1 the second intubation, has been given Pavulon, and then
2 has bradycardia, goes into asystole, would you say
3 anoxic at that point, given my hypothetical series of
4 events?

5 A. Yes, I would say that she would be
6 hypoxic, consume all of her oxygen, and then be
7 anoxic.

8 Q. What amount of time would it take her to
9 be anoxic?

10 A. If she's not being -- if she's not
11 receiving any ventilation?

12 Q. Yes, sir.

13 A. I would anticipate that it would be
14 difficult to measure exactly when she becomes anoxic as
15 opposed to being severely hypoxic. But for practical
16 purposes, a matter of minutes.

17 Q. When you say a matter of minutes, two,
18 three? I'm not pinning you like I'm going to show up
19 with the textbook?

20 A. They wouldn't believe it anyway. But it
21 would be -- in these circumstances?



1 Q. Yes.

2 A. Two minutes. That's strictly a guess, an
3 estimate.

4 Q. All right. Is that not something you feel
5 comfortable rendering an opinion in, given your
6 specialty?

7 A. No. The problem is that the length of
8 time for a patient to become anoxic, depends on how
9 much oxygen content they have at the time you start
10 timing.

11 Q. Right.

12 A. Okay. If they have been breathing one 100
13 percent oxygen or something, it can literally take five
14 to ten minutes to exhaust that. In particular, if they
15 have Pavulon on board, and they are not moving they
16 don't have a big consumption rate, compared to someone
17 who has been holding their breath for 20 minutes, not
18 20, but a minute or so, and then begin to time.

19 Q. You wouldn't believe five to ten minutes
20 for anoxia in this case, because Jennifer clearly
21 presented, correct, in severe respiratory distress?



1 A. From what starting point are you asking
2 me?

3 Q. I think you probably answered it, but I
4 always like to tie it up to make sure it's clear. In
5 this case, it wouldn't have taken Jennifer five to ten
6 minutes to become anoxic because --

7 A. From the time of what?

8 Q. The time of the second intubation, through
9 the end of the code?

10 A. No, I would expect it to be a relatively
11 short time.

12 Q. That would be because she was already
13 hypoxic before going into the code, correct?

14 A. Yes.

15 Q. Okay, fair enough. Do you have an
16 opinion -- first of all, do you have an opinion as to
17 the cause of death in this child?

18 A. My opinion is that the death certificate
19 is accurate. That the patient died from massive
20 cerebral edema secondary to --

21 Q. Anoxia?



1 A. -- anoxia. I have to read that to see if
2 it actually says anoxia. They say ischemic
3 encephalopathy. Hypoxic-ischemic encephalopathy.

4 Q. Do you have a recollection that it
5 actually said anoxia in the hospital chart? It doesn't
6 matter, I'm just asking if you do.

7 A. I honestly don't recall reading anoxia
8 anyplace.

9 Q. Fair enough. In discussing the difficulty
10 that Dr. Irefin may have experienced in the second
11 intubation, let me ask you a couple of questions about
12 that. As a baseline fact, do you agree that Jennifer
13 Mack had an acquired difficult airway?

14 A. No, I've never said that.

15 Q. Okay.

16 A. A difficult airway implying difficult to
17 visualize the larynx or the glottis?

18 Q. Do you agree with me that she had
19 laryngeal swelling at the time when she was first
20 attempted to be intubated?

21 A. Can you be more specific than laryngeal



1 swelling?

2 Q. You're the anesthesiologist. I don't know
3 if I can be more specific.

4 MR. GROEDEL: He already told you about
5 the finding of the subglottic --

6 A. I think it's very possible she had
7 significant subglottic edema and narrowing of her
8 subglottic area, part of the larynx.

9 Q. Do you have a recollection why Dr. Irefin
10 or what he stated as to the reason he couldn't get a
11 size 5.2 vent in this child?

12 A. I would have to look to see the exact
13 words that he stated. My recollection is, of my
14 impression, was that there was a difficulty beyond the
15 cords, that he could pass the tube through the cords.
16 But not apparently farther than that.

17 Q. Okay. What does croup do to the area that
18 needs to be intubated?

19 A. It narrows the subglottic area.

20 Q. Okay. Does it cause swelling in that
21 area?



1 A. Yes.

2 Q. And that's what causes the narrowing?

3 A. Yes.

4 Q. I hate to do it this way but I guess
5 that's what I have to do to get the answer.

6 A. That's okay.

7 Q. Instrumentation by laryngoscope, does that
8 enhance or aggravate the swelling in any way?

9 A. I don't expect the laryngoscope to
10 exacerbate the subglottic edema in croup.

11 Q. Does repeated attempts at laryngoscope, if
12 that's what occurred, have any effect on the ability to
13 intubate?

14 MR. GROEDEL: Objection. How many do you
15 mean by repeated?

16 MS. KOLIS: Hypothetically, two to three.

17 A. I'm sorry, would you state that again.

18 Q. Sure. I'm curious if you have an opinion
19 as an anesthesiologist whether repeated laryngoscopes
20 in one episode of attempting to intubate and reintubate
21 a person contribute to swelling or difficulty in that



1 area?

2 A. In which area?

3 Q. In the area where we are going to try to
4 put the tube in.

5 A. Multiple laryngoscopies can result in
6 edema of parts of the airway.

7 Q. Okay. And would any of the parts of the
8 airway that you believe would be aggravated by affect
9 the ability to easily place an endotracheal tube?

10 A. In this case?

11 Q. Yes.

12 A. I don't believe that two laryngoscopies
13 that are not described as traumatic would significantly
14 alter the airway visualization in this case.

15 Q. Do you know how many attempts Dr. Ireffin
16 made in total before the arrest to intubate?

17 A. As I understand it, he attempted to
18 intubation twice and --

19 Q. The first time?

20 A. I'm not sure -- no. I'm saying that my
21 understanding is he attempted two intubations. He did



1 two laryngoscopies. And during the first laryngoscopy,
2 he had to try more than one endotracheal tube. But
3 there was only one laryngoscopy.

4 Q. I probably didn't make myself clear. When
5 I said do you know how many attempts, I meant how many
6 times did he try to get the tube down. Not how many
7 times he laryngoscoped?

8 A. No, I'm not sure how many times he tried
9 to get the endotracheal tube in. I know of two. He
10 did a five and a three.

11 Q. You don't know if it took him more than
12 one attempt or not on the reintubation to get the tube
13 in, or do you?

14 A. I don't. The indication in his deposition
15 is that he reintubated, and he never indicates that
16 there was difficulty in reintubating.

17 Q. Okay. If in this case, not even
18 hypothetically -- there's a hypothetical part coming,
19 but if in this case, the tube was in the trachea from
20 the time of the arrest until just before the trach,
21 would there have been a need for Dr. Irefin to try to



1 reposition the tube? Do you understand my question?

2 A. If the tube was in the trachea during the
3 arrest, would there be a need for him to reposition the
4 tube?

5 Q. Right.

6 A. And my answer would be there may be.

7 Q. Okay. What would that be caused by?

8 A. He may need to reposition the tube if he
9 believes that the tube is not in the correct position.
10 Not to be flippant. But, for example, if he thinks the
11 tube is advanced and has only been one side of the
12 chest as opposed to bilateral, in that situation, he
13 wouldn't need to reposition the tube. If he perceived
14 that there was a leak and the tube needed to be
15 extended farther into the airway, he may need to
16 reposition. If those things occur, I'm sure that
17 there's more situations which could prompt one to want
18 to reposition the endotracheal tube.

19 Q. Okay. In the medical chart itself, I
20 assume that you read the note that Dr. Irefin wrote?

21 A. Yes.



1 Q. Do anesthesiologists have an obligation to
2 completely and accurately document the sequence of
3 events that occur during an emergency intubation?

4 A. Yes.

5 Q. Okay. In this instance, just as it
6 regards the note, does that note itself reflect in a
7 standard manner all the events which occurred?

8 A. No.

9 Q. The note that is written by Dr. Irefin, I
10 see you're looking at it right now?

11 A. Uh-huh.

12 Q. If that note is sent with the child to
13 another facility, does that give them any helpful
14 information as to the sequence of events and the
15 condition which the child is going to be transported
16 in?

17 MR. GROEDEL: You mean just that note by
18 itself?

19 Q. Yes, just that note by itself?

20 A. Give them any helpful what?

21 Q. Information, as to what happened to the



1 child?

2 A. Yes.

3 Q. What helpful information does it give
4 them?

5 A. It gives them the information that the
6 patient had respiratory distress. For which they
7 received some medications and had an endotracheal tube
8 placed subsequent to which bilateral breath sounds were
9 confirmed.

10 Q. Okay. And you think that would help the
11 people at RBC know what was going on with this child?

12 A. It would help them, yes.

13 Q. Okay. I know that I do have some more
14 questions. Let me see if I can find them. They are
15 all going to be pretty random, I'm sure.

16 Based upon the presentation of this
17 patient, at Bedford Hospital, would you agree with me
18 that the primary therapy that Jennifer Mack needed was
19 the establishment of an airway?

20 A. When you say primary therapy, you mean --

21 Q. The most important issue presenting?



1 A. Yes.

2 Q. Okay. Doctor, do you use a colorimetric
3 CO2 device in the emergency room at your hospital?

4 A. We do not.

5 Q. Do you use anything? I'm sorry. Never
6 let me take a depo on a Saturday. What do you use if
7 you don't use that?

8 MR. GROEDEL: For what purpose?

9 Q. To confirm placement of a tube in the
10 trachea?

11 A. We use bilateral breath sounds, direct
12 visualization.

13 Q. Okay. You don't use any kind of monitor?

14 A. Pulse --

15 Q. In the emergency room?

16 A. We use pulse oximetry. As I stated
17 earlier, I think that that supports the idea of
18 intubation. It doesn't confirm it.

19 Q. Okay. Do you have an opinion as to the
20 cause of Jennifer's cardiac arrest?

21 A. I think she had cardiac arrest because of



1 hypoxia. Hypoxemia.

2 Q. And what caused her hypoxemia?

3 A. I think that her hypoxemia was either
4 caused by an obstructed upper airway and/or parenchymal
5 pathology in her lungs.

6 Q. And that's the same parenchymal pathology
7 that we discussed previously -- I don't want to use the
8 word eliminate -- but would be very unlikely, given
9 that her sats returned to almost 100, after she was
10 trached; is that right?

11 A. That's correct.

12 Q. Do you see any evidence for pulmonary
13 edema in this child when she was at Bedford Hospital?

14 A. The X-ray findings could be consistent
15 with pulmonary edema, although they are not specific.

16 Q. There was nothing else that would have
17 been consistent with pulmonary edema that was noted as
18 a physical finding, in that chart?

19 A. Not that I read. I should say not that I
20 recall.

21 Q. Okay. Recollect is good enough.



1 In intubating a patient, such as Jennifer
2 Mack, we can use Jennifer Mack, I suppose, if we would
3 like to, if the initial attempt is not successful,
4 or doesn't occur, and isn't successful within 20 to 30
5 seconds, the standard of care then requires another
6 attempt, I would assume, at least that's my opinion, 20
7 to 30 seconds after that, right, or so? I mean, I'm
8 doing this in my mind, sequencing, of how you attempt
9 to do multiple attempts at intubation?

10 A. I think the standard of care would be
11 to -- it would depend upon the time frame in which the
12 decision is made that the patient is not intubated.

13 Q. Okay. At what point should an
14 anesthesiologist determine to do a trach, if any?

15 A. I think that I would expect that an
16 anesthesiologist would determine that a trach was
17 necessary if they had determined that it was beyond
18 their ability to intubate or mask ventilate the
19 patient.

20 Q. Would mask ventilation in Jennifer's case
21 have been a good idea if you didn't think you had the



1 airway through an ET tube?

2 A. If the physician thought that they did not
3 have an established airway with the endotracheal tube,
4 I would expect them to attempt mask ventilation.

5 Q. This is what happens when I don't ask good
6 questions. What I meant to ask is in a situation that
7 Jennifer was in, severe respiratory distress, and I
8 think that you must have written in your report, I'm
9 maybe just remembering this wrong, "verge of
10 respiratory failure," if you're the physician and you
11 are having difficulty being certain that you have an
12 airway with an ET tube, do you then just mask the child
13 or should you do a trach? Which would you think the
14 standard would indicate, under these circumstances?

15 A. Under these circumstances, I think the
16 indication, if I felt that I was not certain that the
17 patient was intubated, and I attempted to mask
18 ventilate, and felt that I was successful at mask
19 ventilating, then I would not proceed immediately to
20 cricothyroidotomy.

21 Q. How come? I'm just asking --



1 A. If her upper airway is being managed by
2 mask, and she is in fact having respiratory distress,
3 she'll need some sort of longer term airway management
4 than a mask. But if she's being maintained on a mask,
5 the alternatives would be to attempt -- it depends why
6 the intubation has failed.

7 If the intubation, for example, has failed
8 because the patient has a difficult visualization when
9 doing laryngoscopy, it would be conceivable for example
10 to attempt fiberoptic intubation, which would allow one
11 to bypass that hindrance.

12 However, if it's felt, for example, that
13 it's impossible to establish an endotracheal airway
14 because of some intrinsic laryngeal problem, some
15 anatomic obstruction, or something preventing an
16 endotracheal tube from being inserted, despite adequate
17 visualization, either directly or through a fiberoptic
18 laryngoscope, in that case one would assume, one would
19 default to a tracheostomy.

20 However, cricothyroidotomies are emergency
21 procedures and are not optimal for tracheostomies. In



1 fact, the preference is to put the tracheostomy in a
2 lower area of the trachea, not so high, because there's
3 an increased risk of long-term problems subsequent to
4 cricothyroidotomies, relative to lower tracheostomies.

5 So in any event if I had the patient,
6 established I couldn't intubate, but was managing the
7 patient by mask, and had concluded that I couldn't
8 intubate her through some other method of intubation,
9 then I would expect to have an otorhinolaryngologist or
10 some other surgeon put in a tracheostomy for long-term
11 support.

12 Q. Do you have any criticisms of Dr. Douglas
13 for the therapy which he initiated at the end?

14 A. No, I do not.

15 Q. Do you have an opinion, Doctor, as to why
16 the two intubations failed to stay in place? Let's put
17 it that way. It's kind of a broad question but we will
18 see how we do with it.

19 A. I think there are two possibilities for
20 both of the intubations failing.

21 One is, patient movement. As I understand

1 it, after the first intubation, the patient's muscle
2 relaxant wore off. And also was moved for X-ray. And
3 then the second, after the second intubation, obviously
4 the patient arrested and was having chest compressions
5 and was being resuscitated, and it's certainly possible
6 to displace the tube then.

7 In addition to that, though, I think that
8 it's possible that if the patient had significant
9 subglottic narrowing, because of edema or congenital
10 problems, or for whatever reason, if there was some
11 problem that was interfering with the tube being
12 advanced or interfering with the actual function of the
13 tube while it was in the larynx, that that would
14 contribute, could contribute, in any event, to a less
15 stable situation, predisposing the endotracheal tube to
16 be displaced.

17 Q. Any anesthesiologist should know that,
18 correct?

19 A. Should know --

20 Q. That there may be a situation that will
21 contribute to a less than stable situation for an ET



1 tube, under the scenario that you're suggesting, that
2 there's some obstruction or some narrowing below the
3 vocal cords?

4 A. I wouldn't expect someone to know in
5 advance,

6 Q. Okay.

7 A. That they are going to have a problem.
8 What I would expect them to do is to do what I
9 understand, that the physicians involved here did,
10 which was to stay with the patient until they
11 considered her or him, if it's a man, stable. Which
12 would involve continuous monitoring.

13 I think it's impossible to predict that a
14 tube is going to come out. Obviously, if one were able
15 to do that at the time of laryngoscopy, they would
16 default to some other plan in terms of airway
17 management.

18 Q. Let's just sort of put it this way. It's
19 evident that Dr. Irefer could not use the size tube
20 that was customary for a child of this age, correct?

21 A. Yes.



1 Q. He used a shorter tube, smaller in
2 diameter, and shorter tube, correct, 3, 3.5, whichever
3 it is?

4 A. It depends if the tubes were cut off, in
5 terms of the length. Typically if they are untouched
6 from the manufacturer the 3, 3.0 would be shorter.

7 Q. Would you agree with me that a shorter
8 tube also carries with it a higher risk of becoming
9 dislodged?

10 A. No, I don't understand exactly why you
11 would assume that.

12 Q. Because lots of people told me that. I'm
13 asking if you agree with that or not.

14 A. No, I don't agree with the statement that
15 because of the tube being shorter that it has a high
16 probability of dislodging. No, I don't agree with
17 that.

18 Q. Does it cause any complications that you
19 can think of?

20 A. Being short by itself?

21 Q. Uh-huh.



1 A. I don't think it causes any complication.

2 As a matter of fact, I know I'm supposed
3 to only answer what I'm asked, if you shorten the
4 tubes, I think they can actually provide better
5 airway. If you have an eight millimeter tube or seven
6 millimeter tube and you're having anesthesia and you
7 have a short distance between your mouth and glottis,
8 and the result of that is that you have an extra six or
9 eight inches of endotracheal tube hanging out of your
10 mouth, a polyvinyl chloride tube, that's susceptible to
11 being bent. As a matter of fact, I think if you
12 shorten it, for example if you were in that situation,
13 that the probability of a tube being kinked would be
14 reduced.

15 So I don't think that you can just
16 implicitly say that if one shortens the tube that the
17 risk of displacement is higher. It depends on the
18 anatomy of the patient and how that relates to the
19 length of the tube.

20 Q. All right. We got lost.

21 The first placement you believe became



1 dislodged in an either/or scenario. In other words,
2 perhaps because she was being moved for an X-ray? And
3 I can't remember what the other reason was, that you
4 thought it might have become dislodged. Oh, the
5 medicine wore off?

6 A. Although, the degree of movement isn't
7 precisely described, the indication is that as the
8 succinylcholine wore off, she began to thrash around
9 again.

10 Q. That's something children do, isn't it?

11 A. Anyone who is in respiratory distress.

12 Q. So it's not limited to just kids. I think
13 maybe it's more pronounced in children.

14 How do you guard against the dislodging of
15 a tube subsequent to that medication wearing off?

16 A. There are only two methods or methods of
17 approach to that problem that I think of immediately.

18 One, would be to make sure that the
19 endotracheal tube is secured on the patient. The other
20 would be to make sure that the patient can't move,
21 either by putting them in restraints or administering



1 more chemical restraints, more muscle relaxants.

2 Q. Are you indicating that that should be
3 done?

4 A. No, I'm responding to your question of how
5 to prevent it if I'm concerned about it. In some cases
6 it's appropriate. And in some cases it might not be.

7 Q. In the case of Jennifer Mack, would it
8 have been appropriate to attempt to secure the ET tube
9 in place, given the medical condition which she
10 presented with?

11 A. Appropriate to secure the endotracheal
12 tube in place?

13 Q. Yes, sir.

14 A. Yes, it's appropriate to secure the
15 endotracheal tube.

16 Q. If there is credible testimony that the
17 tube was not secured after initial placement, would you
18 consider that to be a deviation from the standard of
19 care?

20 MR. GROEDEL: Objection. You can answer

21 A. It depends on the time frame.



1 Q. What about the time frame would it depend
2 on?

3 A. Well, if I think that the intent of the
4 people that are there managing the case is to secure
5 the tube, and the patient emerges from their either
6 sedation or muscle relaxant before they get the
7 endotracheal tube secured, if they are making a
8 reasonable effort to do that, I don't hold them
9 culpable for not doing that as compared to just
10 disregarding the whole issue and ignoring the fact that
11 it needs to be either taped or -- there are various
12 methods of securing the endotracheal tubes.

13 Q. Okay. I think I've just about covered it
14 all.

15 I gather that you're going to testify at
16 trial that Dr. Irefin did everything according to
17 standard?

18 A. Yes.

19 Q. Did you conclude in reading Dr. Irefin's
20 deposition that he looked at the film that was taken in
21 the emergency room before the arrest occurred?



1 MR. GROEDEL: Objection. You can
2 answer.

3 A. I was unable to determine exactly when the
4 chest X-ray was actually reviewed.

5 Q. Okay.

6 A. By either Dr. Irefin or Dr. Douglas. It
7 was unclear to me.

8 Q. All right.

9 A. Other than stating that -- in Dr. Irefin's
10 deposition, I believe he does state that the chest
11 X-ray was seen sometime after the patient had been
12 intubated the second time.

13 Q. Okay. Do you agree that vomiting in
14 circumstances like these, not in the general
15 population, but would you agree that in a situation
16 like Jennifer Mack's that vomiting can be consistent
17 with esophageal intubation?

18 A. Can be consistent with esophageal
19 intubation -- you mean caused by esophageal
20 intubation?

21 Q. Yes.



1 A. I think it's possible to predispose the
2 patient to emesis if in fact they are ventilated
3 through an esophageal tube.

4 Q. That's all I wanted to know.

5 A. Just to point out, I noticed in here that
6 the patient was bagged. They say bagged. This issue
7 of air in the stomach and esophagus I think is equally
8 as attributable to mask ventilating someone with upper
9 airway obstruction.

10 Q. Why do you say that?

11 A. Because it is possible if you're mask
12 ventilating someone, in particular if they have
13 obstruction of their upper airway, to be generating
14 high enough pressure in the airway system, including
15 the patient's pharynx to drive whatever gas it is that
16 you're ventilating with into their abdomen.

17 Q. Is that something any anesthesiologist
18 knows?

19 A. I would expect that anyone who considers
20 it, who is an anesthesiologist, would say that that is
21 possible, yes.



1 MS. KOLIS: Okay, Doctor, I don't have any
2 further questions for you. I'm going to have today's
3 deposition transcribed. Mr. Groedel will advise you as
4 to whether you should read it or not, I'm sure.

5 MR. GROEDEL: Yes, Doctor, I would like
6 you to read it.

7 Can you send me a copy and to his office
8 and send me an uncorrected copy?

9 COURT REPORTER: Yes.

10 (Whereupon, Smith Deposition Exhibit No.
11 1, Report prepared by Dr. Smith, was marked for
12 identification.)

13 (Whereupon, Smith Deposition Exhibit No.
14 2, Dr. Smith's C.V., was marked for identification.)

15 (Whereupon, Smith Deposition Exhibit No.
16 3, Dr. Smith's notes, was marked for identification.)

17 (Whereupon, the deposition was concluded
18 at 12:40 p.m.)

19 - - - - -

20

21 STATE OF MARYLAND



1 CITY OF BALTIMORE

2 I, Sherry L. Meyer, a Notary Public of the
3 State of Maryland, City of Baltimore, do hereby certify
4 that the within-named, TERENCE L. SMITH, M.D.,
5 personally appeared before me at the time and place
6 herein set out, and after having been first duly sworn
7 by me, according to law, was examined by counsel.

8 I further certify that the examination was
9 recorded stenographically by me and this transcript is
10 a true record of the proceedings.

11 I further certify that I am not of counsel
12 to any of the parties, nor an employee of counsel, nor
13 related to any of the parties, nor in any way
14 interested in the outcome of the action.

15 As witness my hand and seal this 5th day
16 of February, 1998.

17
18 
19 Sherry L. Meyer
20 Commission Expires: 12/1/01
21



1 CERTIFICATE FOR READING AND SIGNING
2

3 I hereby certify that I have read and
4 examined the within transcript and the same is a true
5 and accurate record of the testimony given by me.

6 Any additions or corrections that I feel
7 are necessary, I have listed on the separate ERRATA
8 SHEET enclosed, indicating the page and line number of
9 each correction.
10
11
12
13
14
15
16

17 NAME
18

19 DATE
20
21



LAWYER'S NOTES

[illegible]

Accurate & Dependable Reporters
Legal Video Specialists
Days - 410-367-3838



**ART
MILLER**
& Associates
COURT REPORTERS

Tops in Turnaround Time
Immediate-Daily-Expedited
Eves. - 410-367-3833

TERENCE L. SMITH, M.D.
ANESTHESIOLOGIST
P.O. BOX 6013
ANNAPOLIS, M.D. 21401-0013

Telephone: 410-280-5601
Fax: 410-280-6403

September 23, 1997

Marc W. Groedel, Esq.
Reminger & Reminger
The 113 St. Clair Building
Cleveland, Ohio 44114

Dear Mr. Groedel:

Re: Michelle Mack, Admr., et al. v. University Hospitals Health System, Inc., et al.
File No. 3600-02-33409-97
Cuyahoga County Common Pleas Case No. 322444

In consideration of Michelle Mack, Admr. of the estate of Jennifer Mack, et al. vs University Hospitals Health System, Inc., et al., I have reviewed the following documents:

- a. Medical record of Jennifer Mack's Emergency Room visit at Bedford Medical Center on 9/14/96;
- b. Medical record of Jennifer Mack's inpatient admission to University Hospitals of Cleveland between 9/14/96 and 9/17/96; and
- c. Deposition of Samuel Irefin, M.D.


My conclusion is that the care rendered by Dr. Samuel Irefin was within accepted medical standards. In particular, he was called to assist in the management of the patient, Jennifer Mack, who, by both his and Dr. Douglas' assessment, was in severe respiratory distress, unresponsive to racemic epinephrine, and on the verge of respiratory failure, therefore requiring emergency intubation. The patient was appropriately ventilated by mask, sedated, and relaxed with a short-acting muscle relaxant in order to accomplish the intubation, which was complicated by the inability to place an endotracheal tube of a size appropriate for her age. This was presumably due to partial airway obstruction which was later confirmed by bronchoscopy and direct laryngoscopy at University Hospitals of Cleveland.

Marc W. Groedel, Esq.
September 23, 1997
Page 2

The intubation was accomplished with a smaller endotracheal tube and verified by appropriate, accepted techniques, including visual verification of the endotracheal tube placement by direct laryngoscopy, confirmation of bilateral breath sounds, observation of chest wall expansion, and verification of carbon dioxide in the expired breath. The patient initially responded as indicated by a rise in her arterial oxygen saturation; but, subsequently, the endotracheal tube was displaced, possibly due to movement as the effect of her muscle relaxant resolved, or due to movement during procurement of a chest x-ray. This displacement was detected by Dr. Irefin who administered a longer-acting muscle relaxant and reintubated the patient, again verified by the techniques mentioned above. Despite this, the patient became hypoxic, lapsed into asystole and required cardiopulmonary resuscitation. During resuscitation, the endotracheal tube was again displaced, and Dr. Douglas performed a cricothyroidotomy in order to secure a more stable airway. Thereafter, the patient responded to the resuscitation and was transported to University Hospitals of Cleveland for further care.

Note that throughout this unfortunate event, Dr. Irefin continued to manage and assess the patient's airway, using accepted techniques. His actions reflect a reasonable effort to manage a life-threatening airway obstruction and fall within accepted standards of care.

Sincerely,

A handwritten signature in black ink, appearing to read "Terence L. Smith".

Terence L. Smith, M.D.
Anesthesiologist

TLS:jls . .

CURRICULUM VITAE

TERENCE L. SMITH, M.D.

HOME ADDRESS:

**525 Horn Point Drive
Annapolis, MD 21403**

OFFICE ADDRESS:

**P.O. Box 6718
Annapolis, MD 21401-0718**

TELEPHONE:

410-280-5601

FAX:

410-280-6403

DATE OF BIRTH:

June 5, 1956

PLACE OF BIRTH:

Pearisburg, Virginia

MARITAL STATUS:

Single

EDUCATION:

1974 Valedictorian, Culver Military Academy
1979 BA, Magna Cum Laude, Harvard University
1983 MD., Case Western Reserve University
1988 Board Certified

POSTGRADUATE
TRAINING:

1983-84 Intern in Medicine, Cleveland Metropolitan
General Hospital, Cleveland, Ohio
1984-86 Resident in Anesthesia, Hospital of the
University of Pennsylvania, Philadelphia,
Pennsylvania
1986-88 Anesthesiologist, Anne Arundel Medical
Center, Annapolis, Maryland
1988-
Present Chief of Department of Anesthesiology
and
Co-Medical Director of Operating Rooms
ANNE ARUNDEL MEDICAL CENTER,
ANNAPOLIS, MARYLAND,

LICENSURE:

MARYLAND

MEMBERSHIP IN

PROFESSIONAL SOCIETIES:

American Society of Anesthesiologists

BIBLIOGRAPHY:

**Brossmer R, Rose U, Kasper D, Smith T, Grasmuk H, Unger F: Enzyme Synthesis
of azido-deoxy derivative of N-Acetylneuraminic Acid. Biochemical and
Biophysical Research Communications 96:1282-1289, 1980.**

**Odriozola J, Waitzkin E, Smith T, Bloch K: Sterol Requirement of Mycoplasma
cipricolum. Proceedings of the National Academy of Sciences 75:4107-4109, 1978.**

Sept 13 1996 - night into a.m. of Sept 14

Bedford Comm. Hosp per Dr. Barson House Officer

agitated & cyanotic - Irrefr

called to intubate a pt evaluated by Dr. Boufford

Boufford gives IV vered

→ size 5 blade ? per 27.

fails due to sub glottic obstruction

successful & 3mO₂ → SpO₂ goes up.

gave
Sax for
1st intubation

apnoea after one of the intubations

→ pass during 2nd intubation

no pulse ox prior to 1st intub. ? 2nd to moving & agitating

confirmation of 1st intub: BLAB 3, Pulse Ox, O₂ Capnometer

→ 1st intubation failure detected due to ↓ SpO₂, lack of chest wall movement; pass dislodged during X-Ray or when sax came off. (p. 34)

no abandonment > never left the room

passable after 2nd intubation

3m14h

EXHIBIT
NUMBER

DATE 1/31/98

REPORTER JM

ART MILLER & ASSOCIATES

DEPOSITION

after 1st intubation pt deteriorate
& a ~~2nd~~ direct laryngoscopy is performed

CPR is proceeding { 12 to 14 minutes into event the
OET again dislodges
I refn was monitoring breath sounds & still
had the Esch fidal CO₂ monitor in place.
(p 44 I refn ~~depointe~~ depointe)
another DL performed indicating the tube was
dislodged

YK: idat

names prog notes 6104 - strap pulse 9/8/76 - HR 90

confusion about who did each ref to comments section
of Code Record