

THE STATE OF OHIO,)
) ss:
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS

FRANCES SMITH, Administratrix)
of the Estate of Rlvester)
Smith, Sr., Deceased,)

Plaintiff)

vs.)

Saint Luke's Hospital, et al.,)

Defendants.)

- - -

Doc. 421

Case No. 100877

Deposition of CURTIS W. SMITH, MD, a
Defendant herein, taken by the Plaintiff as if upon
cross-examination before Lorraine J. Box, a
Registered Professional Reporter and Notary Public
within and for the State of Ohio, at the office of
Charles Kampinski, Esq., 1530 Standard Building,
Cleveland, Ohio, on Monday, the 25th day of August,
1986, commencing at 2:25 p.m., by agreement of
counsel.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS
540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

1 APPEARANCES:

2 Charles Kampinski, Esq.,
3 On behalf of the Plaintiff.

4 Reminger & Reminger, by:
5 Mark Groedel, Esq.,
6 On behalf of the Defendants Timothy L.
7 Stephens, Jr., MD and Curtis W. Smith, MD.

8 Arter & Hadden, by:
9 Rita A. Bartnik, Esq.,
10 On behalf of the Defendants St. Luke's
11 Hospital, J. E. Edmonson, LPT and
12 K. Fedeshen, LPT.

13 Jacobson, Maynard, Tuschman & Kalur, by:
14 Stephen J. Charms, Esq.,
15 On behalf of Defendant S. J. Lee, MD.

16 ALSO PRESENT:

17 Timothy L. Stephens, Jr., MD

18
19
20
21
22
23
24
25
STIPULATIONS

It is stipulated by and between counsel
for the respective parties that this deposition may
be taken in stenotypy by Lorraine J. Box; that her
stenotype notes may be subsequently transcribed in
the absence of the witness; and that all
requirements of the Ohio Rules of Civil Procedure
with regard to notice of time and place of taking
this deposition are waived.

1 CURTIS W. SMITH, MD,
2 the Defendant herein, called by the Plaintiff for
3 the purpose of cross-examination as provided by the
4 Ohio Rules of Civil Procedure, being by me first
5 duly sworn, as hereinafter certified, deposes and
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please?

10 A. Curtis West Smith.

11 Q. We've met before, Dr. Smith, you've been
12 through this. I'll forego some of the
13 preliminaries. You're board eligible, I take it,
14 but not board certified?

15 A. That's correct.

16 Q. Have you taken the test?

17 A. Yes, I have.

18 Q. You did not pass it?

19 A. I took the test this spring; I'm awaiting
20 the results.

21 Q. Okay. This is first time you took it?

22 A. No, it isn't.

23 Q. How many times have you taken it before?

24 A. This is my second time.

25 Q. First time you didn't pass it?

1 A. I passed part of it.

2 Q. Do you take it in parts?

3 A. Well, it changes at various times, but at
4 the time I took it you could take the written and
5 the oral part, and I passed the oral part and I
6 haven't passed the written part.

7 Q. You took them both?

8 A. Yeah.

9 Q. You don't have to take the one you passed
10 again or do you?

11 A. You have to take them all over again,
12 according to the rules at the time I took it. They
13 have changed.

14 Q. What do you have to do now? How have
15 they changed?

16 A. This year I could still take them both.
17 It doesn't require passing of both to go on to the
18 next step. People who graduated who finished their
19 residency last year can take only the written part.
20 At the time that I took it there was at least a
21 two-year wait before you could take it. That was
22 no longer in force. You could take it as soon as
23 you finish your residency. It's been a flux in
24 terms of when you can take it. At the time
25 previous people took it I believe you had to wait a

1 year and it was changed to two years for various
2 reasons.

3 Q. When do you get your results on the
4 testing?

5 A. End of September.

6 Q. Just briefly to remind me, when did you
7 graduate from medical school, sir?

8 A. 1977.

9 Q. That was where?

10 A. Case Western Reserve University.

11 Q. And residency in internship was where?

12 A. University Hospitals.

13 Q. Until when?

14 A. '77 to '82.

15 Q. Okay. And then you commenced with?

16 A. Dr. Stephens.

17 Q. Okay.

18 A. Associates in Orthopedics.

19 Q. Have you been there ever since?

20 A. That's correct.

21 Q. Would you indicate, please, what your --
22 where you have your privileges, what hospitals?

23 A. At St. Luke's Hospital, Huron Road
24 Hospital, St. Vincent's Charity Hospital, Suburban
25 Hospital, and Mt. Sinai Hospital.

1 Q. How many of these did you have in 1984?

2 A. All except Mt. Sinai.

3 Q. Have you ever had any other patients die
4 after a hip replacement procedure, sir?

5 MR. GROEDEL: Objection. Go ahead.

6 Q. Die in the hospital.

7 A. I think when I was a resident I had --
8 its either -- yeah, I believe it was when I was a
9 resident.

10 Q. Since you've been a doctor?

11 A. I was a doctor then.

12 Q. Since you've been in charge and
13 responsible for the treatment of the care as
14 opposed to having a primary physician over you as a
15 resident?

16 A. I don't recall.

17 Q. Was that a patient that you operated on?

18 A. It was a patient that I was the primary
19 resident on. I don't recall whether I was the
20 first or second assistant on the case.

21 MR. CHARMS: Dr. Smith, could you
22 keep your voice up? I'm having a little trouble
23 hearing you.

24 THE WITNESS: Surely.

25 Q. (BY MR. KAMPINSKI) Is that a risk you

1 tell patients?

2 A. Of death?

3 Q. Yes.

4 A. Certainly.

5 Q. What procedure do you use for total hip
6 replacement, Doctor?

7 A. Depends on the condition that the patient
8 has and the patient's age.

9 Q. What procedure did you use with Mr. Smith?

10 A. It's called a bipolar prosthesis.

11 Q. Bipolar meaning what?

12 A. Two poles.

13 Q. Where would the two poles be?

14 A. The one pole is considered the
15 replacement of the femoral head, which is a
16 metallic ball, a second pole is a non-cemented
17 semispherical acetabular hip socket.

18 Q. And whose equipment would you use? Did
19 you have any preference for any --

20 A. I used that that was currently being used
21 at St. Luke's Hospital.

22 Q. Which was what?

23 A. This was a -- I believe at that time -- I
24 can look at the chart and tell you.

25 Q. Sure, go ahead. Feel free to look at the

1 chart any time I have a question of you that you
2 need to look at it. Just so you indicate for me
3 what you're looking at when you find the answer.

4 A. Sure. It's a DuPue.

5 Q. What kind? Does it give additional --

6 A. Left bipolar endoprosthesis replacement,
7 it's called. At that point we were using a
8 tri-lock, I believe.

9 Q. Tri-lock meaning what?

10 A. The fit into the femoral canal, into the
11 lower man --

12 Q. What kind of cement did you use?

13 A. At this point, at this case we used
14 methyl methacrylate, bone cement.

15 Q. Has that changed, the type of cement
16 being used with the hip replacement?

17 MR. GROEDEL: Objection.

18 A. I'm sure there are always revisions in
19 the cement that's used, but I don't know whether
20 we've made changes. We use whatever is the current
21 state of the art.

22 Q. You mean you don't know what's used in it?

23 A. I know methyl methacrylate is still used
24 as a bone cement.

25 Q. Is it used at St. Luke's?

1 A. I would have to check.

2 Q. When is the last time you did a hip
3 replacement?

4 A. That I cemented?

5 Q. Yes.

6 A. I can't recall.

7 Q. Why is that? You don't cement anymore?

8 A. For most of the ones I do, we do what's
9 called a poros-endo, so cementing is something
10 that's not done on every occasion.

11 Q. Has there been a movement away from using
12 cement because of the difficulties in revisions?

13 A. Certainly.

14 Q. Okay. And has the hardware changed also
15 to accommodate that change?

16 A. The hardware is designed specifically
17 with the particular instrumentation that you use.

18 Q. What hardware are you using now mostly?

19 A. Again, you're dependent on the hospital.
20 At St. Luke's, a number of pieces of hardware are
21 still available. Some of the DuPue hardware is
22 still there, I believe Halmedica has equipment
23 still there, so the choices you make really depend
24 on what you decide to use in a particular case.

25 Q. Has there been a change in policy at St.

1 Luke's in terms of the use of cement or can you if
2 you wanted to still use it?

3 A. Surely, you can still use it.

4 Q. Okay. Why was it decided to use cement
5 with respect to Mr. Smith?

6 A. The decision was made at the time of the
7 operation. The decision was made because in the
8 process of preparing his femoral canal for the
9 femoral stem of the prosthesis, there was a small
10 crack in the femoral neck. In order to stabilize
11 the femur, it was elected to use cement.

12 Q. In other words, you weren't planning to
13 use it before you got in there?

14 A. No, I hadn't. But that decision is made
15 at the time of surgery.

16 Q. Well, don't you have to do preparatory
17 work in terms of the size of the hardware that
18 you're going to use? Don't you have to do a
19 template --

20 A. Sure, but that's a guide. The ability to
21 use a size up or a size down can be made on the
22 spot.

23 Q. Do you have any records, notes, anything
24 that reflects what it was that you decided you were
25 going to use before you got in there? Would the

1 x-rays tell us that?

2 A. The x-rays would have been of assistance
3 to put the template up on the x-rays.

4 Q. But I mean where is it that it says what
5 size component you're going to use before you go in
6 there so that it's ready and available for you?

7 A. All of the components are available.

8 Q. Okay. No matter what size you ultimately
9 have to use what is --

10 A. Right.

11 Q. -- available is right there in the
12 operating room?

13 A. Right there in the operating room.

14 Q. What size did you use with Mr. Smith?

15 A. 17 and a half millimeters.

16 Q. Was that what you were planning to use or
17 do you have any recollection?

18 A. I don't have a recollection.

19 Q. Do you subscribe to osteotomizing the
20 trochanter or not?

21 A. In a primary total hip, no.

22 Q. No?

23 A. No.

24 Q. Do trochanteric osteotomies make it
25 easier to do the surgery though?

1 A. I would suppose it would. Depends on
2 your usage of trochanter osteotomies in the past
3 whether you deem it necessary to create sufficient
4 exposure. Most often with trochanter osteotomies
5 they're used for revision of total procedures.

6 Q. They are?

7 A. They are.

8 Q. You didn't do one here though?

9 A. This was not a revision.

10 Q. You didn't osteotomize the trochanter?

11 A. No, I did not.

12 Q. Why don't you tell me what you did do
13 during the operation. You can refer to your
14 operative note if you want.

15 A. Exactly what do you mean? You mean from
16 the time I entered the room?

17 Q. Sure.

18 A. Starting from --

19 Q. Are you reading from your operative note,
20 doctor?

21 A. This is -- I assume you wanted the entire
22 thing. This is the report of the operation.

23 Q. What page so I can just follow along with
24 you.

25 A. 146.

1 Q. Okay. By the way, this was dictated by
2 whom?

3 A. Dr. Michael Gill.

4 Q. Okay. That would have been what, your
5 resident?

6 A. He was a chief resident on the service at
7 the time.

8 Q. Okay. Countersigned by -- is that your
9 signature?

10 A. That's correct.

11 Q. This is November of 1984?

12 A. That is correct.

13 Q. So you had been with Dr. Stephens for
14 approximately what, two years, a little over two
15 years?

16 A. A little over two years.

17 Q. Had you done a lot of these surgeries,
18 these hip replacements?

19 A. Bipolar prostheses?

20 Q. Sure.

21 A. Yes, I've done quite a few of them.

22 Q. How many would you say you've done?

23 A. I would have to check my records.

24 Q. One a month? One a week? Just to put us
25 into some type of framework.

1 A. Certainly at least one a month.

2 Q. I'm sorry, go ahead.

3 A. Indicates that the patient was brought to
4 the operating room --

5 Q. First, before you even get to the
6 procedure, there's a little notation above it that
7 says complications.

8 A. Surely.

9 Q. Are those complications during the
10 surgery?

11 A. Certainly if you consider surgery at the
12 time of the patient's being put to sleep, then yes.

13 Q. These were surgeries, then put it in the
14 anesthetic --

15 A. I'm sorry?

16 Q. These were complications in the
17 anesthetic?

18 A. Yes.

19 Q. I'm sorry. Go ahead.

20 A. "Patient brought to the operating room
21 placed on the operating table in a supine position,"
22 which means on his back. "He was induced with
23 general anesthetic. He was intubated, endotracheal
24 tube. He was ventilated through that airway. He
25 was turned to the side lying position," it's called

1 the decubitus position, "such that the left hip was
2 exposed to the surgical field."

3 Q. I can read this as well as you can.

4 A. I don't understand what you want from me,
5 sir.

6 Q. Well, how many people did you have
7 assisting you?

8 A. I can tell you the number of residents
9 there. Dr. Gill and Dr. Peters

10 Q. It says surgeon, Dr. T. Stephens?

11 A. Sure does.

12 Q. That I take it is an error?

13 A. That is correct.

14 Q. You were the surgeon?

15 A. That is correct.

16 Q. You had met Dr. Edgar Jackson, I think, prior to

17 that time, was that correct?
18 , that correct?

19 A. I had met him prior to that, but yes.

20 Q. When had you met him prior to that?

21 A. I met him in my office.

22 Q. And he was referred by the internist?

23 A. Dr. Edgar Jackson.

24 Q. Okay. And when you met him in the office,
25 was this procedure discussed what would be done?

1 A. Yes, it was.

2 Q. And the purpose of the procedure was to
3 what, alleviate a problem he had with the limp?

4 A. It was primarily to alleviate his pain.

5 Q. He was having pain?

6 A. Right.

7 Q. It was an elected procedure, was it not?
8 It was something he had lived with for a number of
9 years since he was a teenager, apparently?

10 A. Well, I assume it was a little bit more
11 than elective because he wouldn't have presented
12 unless he wanted to change significantly his
13 lifestyle.

14 Q. I assumed that.

15 A. He gave me that impression. He said he
16 was having pain. The limp is something that he had
17 had since the time of his adolescent injury, but
18 the pain was increasing.

19 Q. Okay. So it was decided to do something
20 about it at that time?

21 A. It was decided that he wanted to have
22 something done about it.

23 Q. So that is elective?

24 A. Surely.

25 Q. Okay. It's something he could have lived

1 with, something --

2 A. That he chose not to live with.

3 Q. And you agreed after, I take it,
4 reviewing x-rays that you could do something for
5 him?

6 A. Correct.

7 Q. And you took a medical history, I take it?

8 A. Absolutely.

9 Q. And you were aware of his hypertension
10 problem?

11 A. Yes, sir.

12 Q. You were aware of his other past medical
13 history?

14 A. I was aware of his hypertension.
15 Dr. Jackson's usual routine when he refers patients,
16 he would give me a call to let me know what the
17 patient's status was, what he thought his problem
18 was, asked me to see him.

19 Q. As a matter of fact, after it was decided
20 that this would be an appropriate procedure to
21 perform on Mr. Smith, he was put into the hospital
22 for that procedure in October and that was vetoed
23 by Dr. Jackson? I mean, he indicated that it
24 wasn't appropriate at that time because of his
25 hypertension and an upper respiratory infection,

1 correct?

2 A. That is correct.

3 Q. In any of these x-rays that you looked at
4 before, was this crack in the femoral neck noted by
5 you?

6 A. It was not there.

7 Q. Okay. So this had to happen when?

8 A. As I said, during surgery.

9 Q. So while you were doing surgery, a crack
10 developed in the femoral neck?

11 A. Yes.

12 Q. Okay. I apologize if I'm bouncing back
13 and forth, but as I think of these things, I
14 certainly want to give you an opportunity to
15 respond to them. After he went home in October,
16 the decision was made for him to come back I guess
17 November 14th, he actually came back the 12th?

18 A. Decision was made on discharge that Dr.
19 Jackson would follow him in the office and when he
20 thought appropriate he would call me and we would
21 arrange a readmission.

22 Q. That was done, I take it?

23 A. That was done.

24 Q. He did in fact return to the hospital I
25 think November 12th. Did you see him or his family

1 upon his return to the hospital?

2 A. I'm certain that I saw him. I did not
3 see his family.

4 Q. He knew you were going to do the surgery,
5 not Dr. Stephens?

6 A. Absolutely.

7 Q. Okay. Did Dr. Jackson again check him to
8 clear him for the surgery?

9 A. Prior to his second -- after his second
10 admission?

11 Q. And before the November 14th surgery.

12 A. As I stated, he checked him periodically
13 in his office and he checked him in the hospital
14 prior to his surgery, that's correct.

15 Q. So it was decided that he was in an
16 appropriate physical condition to undergo the
17 surgery?

18 A. That is correct.

19 Q. What caused or precipitated the
20 complications regarding maintaining adequate blood
21 pressure and poor intra-operative oxygenation, if
22 you know?

23 A. I don't know.

24 Q. This is something that the
25 anesthesiologist had to deal with, I take it?

1 A. That is correct.

2 Q. Why is it, sir, that he was sent from
3 that operation to intensive care? Whose decision
4 was it?

5 A. Anesthesia's decision.

6 Q. Did you have any input into that decision?

7 A. My input usually is do -- if they ask me
8 or if they're concerned about the patient's
9 oxygenation, I leave it up to them. If they say,
10 Do you object if we send him to SICU, I would say
11 no.

12 Q. Have you ever had input to the point
13 where you're the one that indicated you want your
14 patient going to intensive care?

15 A. Not regarding an operative -- if this is
16 a postoperative decision, that's usually based
17 strictly with anesthesia. I have input into that.
18 If I have some concerns that differ with the
19 anesthesiologist's concern, then I'm sure we would
20 try to come to a decision about whether it would be
21 most appropriate. I'm sure in the case where
22 either of us thought it was appropriate, that's
23 where the patient would go.

24 Q. Who is the physician in this situation
25 responsible for the patient, you or the

1 anesthesiologist? Whose position is it?

2 A. Just as in most situations where
3 delegation and responsibility is given to the
4 parties that are most attuned to taking care of
5 those particular areas as in the postoperative
6 phase of an anesthetic, the anesthesiologist is
7 generally considered to be in charge of the patient.

8 Q. Who is the attending physician?

9 A. I am the attending physician.

10 Q. You have direct primary responsibility
11 for that patient while he's in the hospital, do you
12 not, sir?

13 A. That is correct.

14 Q. You can, can you not, sir, give orders
15 with respect to where you want that patient in the
16 hospital, can you not, sir?

17 A. I can do that at any time.

18 Q. Okay. So that if you perceive the
19 existence of a problem, whether it be
20 intra-operative or postoperative or preoperative,
21 you can address that problem as the primary
22 physician responsible for that patient; can you not?

23 A. If I'm made aware of the problem.

24 Q. Okay. Do you have any recollection of
25 having input, sir, into the decision to send Mr.

1 Smith to intensive care after the November 14th
2 surgery?

3 A. Not directly.

4 Q. Okay. You were aware of the fact that he
5 was sent there?

6 A. Oh, of course.

7 Q. Okay. You indicated that a crack in the
8 femur occurred. Could you show me where that is
9 set forth in this operative note? Okay, I see it.
10 Page 147.

11 A. I have about a quarter of the way back.

12 Q. Okay. What's the calcar portion, what
13 part of the femoral or the femur is that?

14 A. It refers to an area proximal to the left
15 trochanter and distal to the femoral neck --
16 femoral head on the inner aspect what we call the
17 medial side.

18 Q. Did you take x-rays of that during the
19 operation?

20 A. We took it after we finished, yes, during
21 the operation.

22 Q. During the operation?

23 A. If you're considering the operation as
24 the time -- the total time he was in the operating
25 room, yes.

1 Q. Why don't you find those x-rays, if you
2 would, so you can show me what you're talking about.

3 A. This is the area of the left trochanter,
4 this bump here. This is the outer portion. This
5 is the hip socket. This is the prosthesis. This
6 is the area of the calcar. It is thickened --

7 Q. Can we see some type of a fracture there?

8 A. I can't.

9 Q. Why not?

10 A. Why not?

11 Q. Yes.

12 A. Probably depended on the projection. It
13 was a very small crack at the time. We were
14 concerned not so much about how large it was, but
15 where it was.

16 Q. Okay. And that caused you to cement the
17 femur?

18 A. That's correct.

19 Q. Cement the -- actually put cement all the
20 way down inside the femur?

21 A. Down at least to the ends of the
22 prosthesis.

23 Q. Do you have any postoperative pictures,
24 Doctor?

25 A. Yes, I do.

1 Q. On this one that we're looking at, which
2 is I guess dated the 14th, I believe -- it's
3 actually October.

4 A. It's admission 10-18-84. He probably
5 still had his initial card.

6 Q. That couldn't be it, could it?

7 A. No. I'm saying the number you're asking
8 about --

9 Q. Okay.

10 A. He probably had his initial template made
11 on that date.

12 Q. Okay.

13 A. Postoperatively with surgical --

14 Q. We can't really see the cement though,
15 can we?

16 A. Not very clearly, no.

17 Q. Do you have any others?

18 A. No, I don't.

19 Q. That's the only postoperative film that
20 you have?

21 A. Yes, it is.

22 Q. Is that the only one that was taken?

23 A. You mean initially?

24 Q. Isn't at any time postoperatively?

25 A. There's another operation that could be

1 considered postoperative.

2 Q. Do you have those?

3 A. Surely.

4 Q. Let me see.

5 A. Here it is.

6 Q. Can you see the cement in there?

7 A. End of the cement right there.

8 (Indicating)

9 Q. That's the line for the cement. Is the
10 cement supposed to be poured evenly through the
11 femoral canal?

12 A. It's injected under pressure as evenly as
13 possibly can be done.

14 Q. Have you had a lot of experience using
15 cement?

16 A. Surely.

17 Q. This is the second operation, Doctor?

18 A. That is -- no.

19 Q. A picture during the second operation?

20 A. This is the socket. This is out of the
21 socket. This is the picture that was taken to
22 confirm he had a dislocation.

23 Q. How did that happen?

24 A. I don't know. I wouldn't know how it
25 happened.

1 Q. Well, was he put under some type of
2 restraints after the operation?

3 A. He is put in a triangular --

4 Q. Traction?

5 A. He's put in what's called an abduction
6 splint.

7 Q. What's that?

8 A. I was explaining it to you.

9 Q. I'm sorry.

10 A. A triangular device between his legs to
11 keep his legs in a position that's not closed
12 together because this -- any type of hip
13 replacement has an area of weakness and one of the
14 things you want to maintain is that position of
15 abduction. The patient helps maintain that, the
16 nursing staff, the abduction --

17 Q. He can't get up and walk around with that
18 thing on?

19 A. With the pillow in between, I've had
20 patients try to get up. It's very difficult to do
21 it.

22 Q. And I take it nurses are in to watch him
23 to make sure nothing occurs with respect to that
24 particular hip so that the cement can't set and
25 that --

1 A. Cement's already set.

2 Q. It is set. It hardens immediately?

3 A. Hardens within 10 or 15 minutes,
4 depending on the particular cement.

5 Q. You don't cement the ball into the
6 acetabulum, do you? How is that --

7 A. There are a number of prosthetic
8 replacements for his particular problem which were
9 elected not to do.

10 Q. Why not?

11 A. Because of his age, because of his shape
12 of his acetabular.

13 Q. How was it set in there then?

14 A. When you asked me initially about the
15 bipolar component, this sets as a suction fit into
16 the already existing hip socket that is relatively
17 freely mobile in the socket, but with the
18 constraints that it's locked in, so if you can
19 picture a suction fit. But you're still able to
20 twirl the socket. That's what the design of this
21 kind of prosthesis is for.

22 Q. I assume that's easier to dislocate than
23 a cemented one, or maybe I'm wrong?

24 A. I think you're wrong. There are a number
25 of -- they are all prone to dislocation, and the

1 exact figure I would have to look up. It's my
2 impression that the dislocation rate is somewhat
3 higher in this type of prosthesis. The exact
4 numbers, I couldn't quote you at this time.

5 Q. It would take some type of movement,
6 would it not, to dislocate it to the extent we see
7 it in that picture?

8 A. Extent doesn't make any difference. If
9 it's dislocated, it's dislocated.

10 Q. All right. What would, in your opinion,
11 cause that to --

12 A. The patient could have malpositioned
13 himself even within the -- even within the
14 constraints of his bed. He could have been turned
15 to prevent weakness in this prosthesis. A number
16 of things could have happened. I have no idea of
17 knowing which one of them.

18 Q. Does he undergo physical therapy after
19 the operation?

20 A. Yes.

21 Q. What do --

22 A. There is a routine protocol for
23 postoperative.

24 Q. What do they do with respect to the hip,
25 if anything?

1 A. Well, he is further instructed in the
2 physical theraputic modality that he had been
3 instructed in prior to surgery. He is initially
4 begun on strengthening exercises to the muscles
5 around the hip joint.

6 Q. What does he do for that?

7 A. He performs the exercise that he's
8 instructed by the physical therapist.

9 Q. What exercise would they be?

10 A. Initially they involve basically
11 isometric tightening of the muscles around the hip
12 joint. Once he becomes a little bit stronger from
13 the effects of a general anesthetic and a major
14 surgery, he's gotten up and his ambulation is
15 assisted. He's shown how to --

16 Q. That didn't occur here, did it?

17 A. No, it did not. You asked me what the
18 protocol was.

19 Q. You've reviewed this record before coming
20 here today, haven't you?

21 A. Yes, I have.

22 Q. He did have some physical therapy, didn't
23 he?

24 A. I didn't review that part of it, no. But
25 I would have to review it.

1 Q. Why don't you take a minute, take a look
2 at that.

3 A. Okay. He was seen by physical therapy
4 pre-operatively and given protocol, which is on
5 page 106 in the physical therapy, in their
6 rehabilitation notes. He was seen on 11-15 while
7 the patient was still in the intensive care unit.
8 He would --

9 Q. They would see him in intensive care?

10 A. They see him the day after surgery.

11 Q. I'm sorry?

12 A. He was seen by the doctor in the
13 intensive care unit. His lower extremity exercises
14 consisted of ankle pump, which are just moving the
15 ankles up and down to try to avoid clots.

16 Q. Thromboembolic phenomenon.

17 A. Ankles, circles in both directions.

18 Q. He was seen by the same licensed physical
19 therapist the -- November 13th, November 15th and
20 November 16th, correct?

21 A. That's correct.

22 Q. J. E. Edmonson, and then seen by
23 K. Fedeshen, licensed physical therapist on
24 November 17th, correct?

25 A. That's correct.

1 Q. And there is something different that was
2 seen on the 17th and that is the internal rotation,
3 she's got underlined apparently. That's a
4 dislocation?

5 A. Until proven otherwise.

6 Q. Well, it was not proven otherwise, it was
7 dislocated?

8 A. You asked me was it a way to assess that.
9 To get a proper follow-up, proper x-rays. Those
10 were obtained.

11 Q. Okay. She do anything when she saw him --

12 A. I did not have conversation with her.

13 Q. According to the note, can you determine --
14 or what does it mean when it says unable to
15 position (L) LE to neutral? What does that mean?

16 A. Unable to position left lower extremity
17 to neutral.

18 Q. What is neutral?

19 A. Point the toes straight up in the air.

20 Q. Would that be because of this dislocation?

21 A. That could be one of the causes.

22 Q. So she tried to position him and couldn't?

23 MS. BARTNIK: I'm going to object.

24 Q. (BY MR. KAMPINSKI) According to the
25 notes, as best we can determine?

1 A. As best we can determine.

2 Q. Then it says patient states he has not
3 been performing AEPs on his own. What are AEPs?

4 A. Probably something to do with the ankle
5 exercises, but that's physical therapy -- a symbol
6 that I'm not familiar with.

7 Q. Then we go down a little bit, it's got
8 "Poor diaphragmatic breathing secondary to
9 complaints of abdominal pain." Do you see that
10 notation there?

11 A. Surely.

12 Q. So he was complaining of abdominal pain?

13 A. According to the note.

14 Q. You didn't do any surgery on his abdomen,
15 did you, Doctor?

16 A. No, I did not.

17 Q. Okay. Were you aware of the fact that he
18 was in abdominal pain, sir?

19 A. At this point, no.

20 Q. This is the 17th, I mean, the date that
21 you performed surgery on him.

22 A. If you're asking me was the -- this
23 information either relayed to me or was I aware he
24 was having abdominal pain, no, I was not.

25 Q. Would that have made any difference in

1 your decision to perform surgery on this man on
2 November 17th?

3 A. Not considering his immediate past
4 history of having the -- having had a major
5 anesthetic as well as a major operative procedure,
6 having had a history of some abdominal surgery in
7 the past to have gastritis following a major type
8 surgery is not unusual.

9 Q. Is that what he had, is gastritis, Doctor?

10 A. That is a supposition.

11 Q. Whose supposition?

12 A. That was shared with me by the resident
13 on call that evening. It was confirmed by Dr.
14 Jackson the following morning.

15 Q. What day are you talking about?

16 A. I'm -- if this is the initial note of --
17 this was the night before?

18 Q. 16th.

19 A. We'll have to check the note.

20 Q. Go ahead. Well, before you do, let me
21 finish the one sentence then we'll go back and
22 check it. The next sentence says "LE exercises
23 performed well, then standing held today." Do you
24 know what that means, sir? Lower --

25 A. Lower extremity exercises performed well.

1 I guess he wasn't going to try standing.

2 Q. Okay. I'm sorry. Go ahead and see if
3 you can find that note now.

4 A. On the 16th of November --

5 Q. What page, Doctor?

6 A. That's 119.

7 Q. I'm sorry?

8 A. 119.

9 Q. And I'm sorry, what note is it that
10 you're looking at?

11 A. The on call note by Dr. Cendo.

12 Q. Dr. who?

13 A. That signature is Cendo, C-e-n-d-o.

14 Q. Who is he?

15 A. He's another orthopedic resident.

16 Q. Okay. And what does it say?

17 A. It says "Patient had coffee ground emesis
18 tonight."

19 Q. What is that, just so the record is clear?

20 A. Basically vomitus.

21 Q. Okay.

22 A. "Also complains of some abdominal
23 distress. Unable to void. No other complaints."

24 Q. Um-hmm.

25 A. "Patient may have gastritis or stress

1 ulcer," in parenthesis, "status post" --

2 Q. "Intubation"?

3 A. Looks like "intubation."

4 Q. "And ventilation"?

5 A. "Ventilation. Plan, Tagamet IV/PO."

6 Q. What's that?

7 A. Maalox.

8 Q. What's PO?

9 A. By mouth.

10 Q. Maalox by mouth?

11 A. Maalox by mouth, Foley catheter.

12 Q. You agreed with that, I take it?

13 A. I knew of it. I can't recall whether he
14 called me to make me aware of it, but I did not
15 disagree with it, yes.

16 Q. Well, you were aware, I take it, of the
17 possibility of an ulcer earlier, weren't you,
18 Doctor?

19 A. I'm not sure what you mean, sir.

20 Q. Well, let's see. I guess it was 119.
21 Did the patient lose a lot of blood in the first
22 surgery?

23 A. I would have to check and see.

24 Q. Okay.

25 A. His estimated blood loss, according to

1 the brief note on page 112, is 400 ccs.

2 Q. 400?

3 A. 400.

4 Q. On your operative note, which was
5 dictated by Dr. Gill and which you apparently
6 countersigned, it said 200.

7 A. The actual measuring of the amount of
8 blood loss is done by weighing napkins, surgical
9 sponges, looking at the surgical field, estimating
10 how much may have gone into suction, how much may
11 have been diluted with irrigation, that sort of
12 thing.

13 Q. So a 200 cc difference is not a big deal?

14 A. No.

15 Q. Was a hemovac put in?

16 A. I'm sure it must have been. It's usually
17 routine. "Large hemovac drain."

18 Q. And how long was it in?

19 A. I'll have to check and see. I don't see
20 it. It's usually removed around 48 hours. I don't
21 see the specific references.

22 Q. Well, would it be removed if there was
23 continual -- or additional drainage or --

24 A. Depends on the amount and the quantity of
25 the drainage.

1 Q. Once there was no more drainage, you'd
2 remove the hemovac?

3 A. It would depend -- if there were no
4 drainage at all, it still would probably remain in
5 place for at least 24 hours, and it would be left
6 in place up to 72 hours and possibly longer.

7 Q. Is a doctor's order required to remove it?
8 Is a doctor's order required?

9 A. It's usually removed by the surgical
10 resident, so --

11 Q. But you can't tell from the record here
12 whether it was removed or not?

13 A. I cannot tell from the record.

14 Q. Can you tell how much drainage there was?

15 A. In the notes of the primary medical staff
16 that include the residents and the other MD
17 personnel, I don't see a reference. I have not
18 checked the nurses' notes.

19 Q. Doctor, when you were made aware of this
20 possibility of gastritis or stress ulcer, did you
21 do anything to try to check any other tests that
22 had been taken or other values regarding your
23 patient to determine whether or not -- and I take
24 it this diagnosis or possible diagnosis was made
25 because of the coffee ground emesis?

1 A. The coffee as opposed to disclosure
2 discomfort.

3 Q. Other things can cause that as well as
4 gastrointestinal bleed?

5 A. Coffee ground emesis?

6 Q. Sure.

7 A. There are many causes, that's right.

8 Q. There are tests that you can do to try to
9 figure out which one of the causes it is, aren't
10 there, sir?

11 A. And the usual postoperative state, since
12 this is a relatively common occurrence, the general
13 practice is to treat this as a stress ulceration.
14 If it persists, other tests are undertaken.

15 Q. Can blood level in a person assist you in
16 determining whether or not it's a bleeding problem?

17 A. Surely.

18 Q. GI bleeding?

19 A. Surely.

20 Q. How much would you expect normally
21 hemoglobin to drop postoperatively with a 200 or
22 let's say a 400 cc blood loss in a total hip
23 replacement?

24 A. The one thing that you have to correctly
25 evaluate when you're determining blood loss is not

1 how much is lost into the field but how much is
2 lost into the soft tissues, into the muscles and
3 such around, and that can be several units. So the
4 actual amount that you see that is drained through
5 the tube may not reflect the total amount.

6 Q. Would you normally see the hemoglobin
7 drop after any operation because there is some
8 blood loss?

9 A. Surely.

10 Q. Did you replace blood, by the way, after
11 the first operation?

12 A. I'd have to check.

13 Q. Okay.

14 A. Not that I can discern.

15 Q. If there would have been significant
16 blood loss, would you have ordered any kind of
17 blood replacement, sir?

18 A. If there had been blood loss sufficient
19 that I thought it would make a difference in the
20 patient's recovery, surely.

21 Q. So apparently you didn't?

22 A. From -- as I recall, the lab values that
23 I recall most immediately postop did not indicate
24 that he had had sufficient blood loss. I don't
25 know for a fact, as I said, I did not see a

1 confirmatory or a reference to the fact that I did
2 not give blood, but on my last review of the chart,
3 I don't recall.

4 Q. I didn't see that either, so I think
5 you're correct, I don't think there was any blood
6 given.

7 Did you check on Mr. Smith's hemoglobin
8 levels, Doctor, through his short stay in the
9 hospital here?

10 A. I'm sure they were checked on as a matter
11 of routine for --

12 Q. Did you check them, sir?

13 A. I'm sure I must have or I at least asked
14 the resident about them. Whichever specific, I
15 don't recall.

16 Q. What did you think of them in relation to
17 whether or not this man was having a GI bleed
18 postoperatively?

19 A. I did not think he was having a GI bleed.

20 Q. Otherwise, you certainly wouldn't have
21 had him undergo another surgery on the 7th if he
22 was, would you?

23 A. That is a question that I've not
24 entertained.

25 Q. Well, why don't you entertain it for me.

1 If he was having a GI bleed, sir --

2 A. Then I would get the appropriate -- if I
3 thought that he was having a GI bleed and there
4 were parameters to indicate such, and then a very
5 unusual loss of hemoglobin or hematocrit, if his
6 condition were such that his blood pressure were
7 exceedingly unstable, then I certainly would have
8 considered it.

9 Q. What do you consider -- I don't want to
10 paraphrase you, but I don't remember the word you
11 used with respect to the hemoglobin loss. I think
12 you said extensive. What would you consider an
13 extensive drop in the hemoglobin?

14 A. It is not unusual for any major surgical
15 procedure like this to lose a couple units, several
16 units of blood.

17 Q. How about five units?

18 A. As a general rule, unless the hemoglobin
19 goes below 10 or hematocrit below 30. And we at
20 that point consider it most often don't transfuse
21 until the hemoglobin is 9 or the hematocrit is 27.

22 Q. Would a surgical loss of blood or some
23 loss of blood into the soft tissues, okay, be
24 apparent immediately after surgery one day, two
25 days? How long would it take before you got an

1 accurate reading as to how much blood is lost from
2 surgery as opposed to from some other reason?

3 A. It's fairly difficult to tell.

4 Q. You're a doctor.

5 A. Surely, but it depends on the area where
6 you operate.

7 Q. How about the hip?

8 A. The hip can hide sufficient amounts of
9 blood, but it would be reflected whether you were
10 able to appreciate that in the amount of drainage
11 through your drains or on the dressings or some
12 external indication. There still could be large
13 amounts of blood lost into the soft tissues.

14 Q. Does it trouble you, sir, that the
15 admitting hemoglobin on Mr. Smith was 15 on
16 admission, it dropped to 13.9 postoperatively and
17 to 10.8 over the next two days? Does that concern
18 you at all, or is that perfectly normal?

19 A. It is not out of the ordinary for this
20 type of procedure.

21 Q. Does it concern you in conjunction with
22 the existence of a coffee ground emesis and
23 abdominal pain? Does that cause you any concern,
24 sir?

25 A. It causes me to realize that the

1 possibility exists, but the usual statement, the
2 usual postoperative things that we see, it is not
3 out of the ordinary.

4 Q. Well, when you were considering whether
5 or not Mr. Smith was an appropriate candidate for
6 surgery on November 17, 1984, did you order any
7 additional tests to try to rule this out to ensure
8 that he was not in fact having a GI bleed prior to
9 performing surgery on the man?

10 A. No, I did not.

11 Q. Why not?

12 A. The nature of the procedure that was
13 anticipated for Mr. Smith is a closed procedure and
14 is performed as soon as possible after the
15 dislocation is appreciated. Since there is no
16 anticipated further blood loss through the surgical
17 procedure, the choice was made to get an anesthetic
18 clearance for this man, and if anesthesia thought
19 it was appropriate, move on to the proviso that no
20 open procedure would be done, that we would go
21 ahead.

22 Q. Sir, you were aware with the fact this
23 man had a heart problem, weren't you?

24 A. I was aware he had arrhythmia as well as
25 hypertension.

1 Q. And certainly that's something you take
2 into account with respect to making a decision
3 whether or not to perform surgery, don't you agree?

4 A. That's correct.

5 Q. What happens to somebody who has prior
6 heart problems when they have a significant blood
7 loss -- and let's assume that they have some type
8 of bleed, internal bleed. What happens to the
9 heart? Does the heart have to work harder in that
10 type of situation?

11 A. If you're assuming some things that may
12 in fact not be true, then the amount of blood loss,
13 if it's significantly below a level that the body
14 has been classically taught to function above, then
15 that would be a concern. As I stated, in the
16 postoperative period of time, a blood loss from a
17 normal level of hemoglobin and hematocrit, which is
18 15, which is true in his case, even down to 10,
19 would not be a level where we would consider --

20 Q. Does the heart have to work harder, is
21 the question.

22 A. The heart works somewhat harder, sure.

23 Q. Did you order any tests to see what the
24 blood level was right before surgery?

25 A. I don't recall.

1 Q. Well, did any doctor order any additional
2 tests, for example, on the morning of surgery? Did
3 Dr. Jackson order his blood level to be monitored
4 once a day? Why don't you take a look, sir. Page
5 120.

6 MR. CHARMS: That is in the order
7 strip?

8 MR. KAMPINSKI: Progress notes.

9 Q. (BY MR. KAMPINSKI) There's a note by Dr.
10 Jackson, isn't there?

11 A. Yes, there is.

12 Q. 11-17, can you read that?

13 A. Surely.

14 Q. Why is it that Dr. Jackson was there the
15 morning of the surgery, do you recall?

16 A. I'm sure he was seeing his patient
17 postoperatively.

18 Q. Did you discuss having surgery with him
19 postoperatively, after the 14th?

20 A. Correct.

21 Q. Did you discuss having the surgery of the
22 17th with him?

23 A. I don't recall.

24 Q. Well, you didn't, did you, Doctor,
25 because as noted the 17th, his note of the 17th

1 reflects that he had no idea that Mr. Smith was
2 undergoing surgery, does it?

3 A. If you'd like me to finish my statement.

4 Q. Yeah.

5 A. At the time of this note, Dr. Jackson, I
6 assume from the sequence of things here, probably
7 came by earlier than I, and I don't recall
8 specifically, but I in fact believe I did talk with
9 Dr. Jackson on the day of surgery to apprise him of
10 the fact that we were going to have to go back.
11 That is not in the chart, but it's my recollection
12 that that is true.

13 Q. Um-hmm. And did he then go back and
14 check him to see if he was suitable for surgery?

15 A. Not according to the notes.

16 Q. Well, according to the notes, November 17,
17 1984 note by Dr. Jackson, it reflects directly to
18 the contrary, doesn't it? It says nasal gastric
19 tube to be apparently something if vomit again. Do
20 you see that at the bottom?

21 A. "Nasal gastric tube if vomit again."

22 Q. What's the next thing say?

23 A. "Follow hematocrit twice a day."

24 Q. What does that mean?

A. Follow hematocrit twice a day.

1 Q. What does that mean in his desire to have
2 this man checked?

3 A. That he was interested in having his
4 blood drawn twice a day.

5 Q. Why is that?

6 A. You'll have to ask Dr. Jackson.

7 Q. I'm asking you. You were the primary
8 physician. Weren't you interested in having it
9 checked twice a day?

10 A. If the concern is that this man were
11 losing blood other than the areas that were
12 suspected, if you were losing it more rapidly than
13 suspected, then to monitor a blood loss more than
14 usual is customary.

15 Q. Dr. Jackson wanted it monitored?

16 A. If Dr. Jackson wanted it monitored, he
17 did not discuss that fact with me.

18 Q. You made no orders to have it monitored
19 twice a day, did you, sir?

20 A. No, I did not.

21 Q. And it's your testimony that you think
22 you might have been aware of the dropping
23 hemoglobin or you're just not sure right now?

24 A. I would have to say that I'm not sure.

25 Q. You apparently were told by somebody that

1 the hip had been dislocated; is that correct?

2 A. That is correct.

3 Q. And you made the decision that surgery
4 should be undertaken again; is that correct?

5 A. That is correct.

6 Q. Did you get a consent form signed?

7 A. Apparently not.

8 Q. Why not?

9 A. We've looked through the chart and shown
10 that there wasn't one.

11 Q. Why not?

12 A. It is not my usual responsibility to do
13 that. Usually the residents do that. The
14 residents were in the room and in discussing this
15 with them, I was not aware that it wasn't done.
16 The fact that it was not would not really have
17 precluded our not doing the reduction.

18 Q. You would have done it without a consent --

19 A. If he had disagreed, we would not have,
20 but the man verbally agreed to having a reduction
21 performed.

22 Q. Do you remember that?

23 A. Of course I do.

24 MR. GROEDEL: I'm sorry, I didn't
25 hear your response.

1 THE WITNESS: Yes.

2 MR. KAMPINSKI: He said of course he
3 does.

4 Q. (BY MR. KAMPINSKI) Did you talk to the
5 family about it?

6 A. I did not talk with the family.

7 Q. Why not?

8 A. My only contact with this patient had
9 been with himself, never with the family. The
10 family was never -- did not come to the office with
11 him initially and I did not actually ever speak
12 with them prior to the surgery. I spoke with the
13 patient.

14 Q. Speak with them after he died?

15 A. I did not speak with them that night.
16 The wife called me on one occasion.

17 Q. What did you talk about with her?

18 A. She asked me had any -- I don't recall
19 the specifics, but my impression is that she was
20 asking me the circumstances surrounding the death.

21 Q. What did you tell her?

22 A. I told her what was available on the
23 chart, that he apparently died of a myocardial
24 infarction.

25 Q. Did you tell her that he went to

1 intensive care after the surgery?

2 A. I don't recall specifically.

3 Q. You put that on the chart --

4 MS. BARTNIK: Objection.

5 Q. -- didn't you?

6 A. That he went to --

7 Q. Intensive care after the surgery of
8 November 17th.

9 A. And that was also correct.

10 Q. He did. He went to intensive care after
11 the November 17th surgery?

12 A. That was also corrected on my copy of the
13 summary, that that was -- in dictating, are you
14 speaking of the expiration summary?

15 Q. Sure.

16 A. In my dictating it, I must have dictated
17 the incorrect word.

18 Q. Did you tell her that he went to
19 intensive care?

20 A. I don't recall telling her where he went.

21 Q. Did you order him to go to intensive care
22 after the surgery.

23 A. I was not on call that night.

24 Q. Well, you were the one that did the
25 surgery?

1 A. And he --

2 Q. Excuse me. My question is after the
3 surgery, right then and there, did you order him to
4 go to intensive care?

5 A. No, I did not.

6 Q. Why not?

7 A. That decision is made in most
8 circumstances by the anesthesiologist. The patient
9 tolerated his procedure well, the patient,
10 according to the anesthesiologist, was stable, he
11 was sent to the recovery room as is a common
12 practice.

13 Q. Was it a difficult procedure, sir?

14 A. To reduce the hip?

15 Q. Yes.

16 MS. BARTNIK: Objection. Go ahead.

17 A. No more than usual.

18 Q. Well, I mean there's some adjectives used
19 by you and the resident that I just wondered if
20 this was unusually difficult. I don't want to
21 paraphrase, I want to use the exact words that you
22 used. "Note that a large amount of force was
23 necessary to reduce this hip." Is that -- why
24 would you say that as opposed to the hip was
25 reduced? What is it that had to be done that

1 caused you to put "large amount of force used"?

2 A. In determining the stability of any joint,
3 you would rather -- if a joint has been dislocated
4 and it goes in very easily, it indicates a certain
5 amount of -- a relative more amount of instability.
6 The fact that it took more to reduce it only
7 attached to the adequacy of the initial procedure.
8 The tougher it is to get in, the more unlikely it
9 is to come out unless some unusual things were done
10 to it. So the fact it was difficult to get in
11 meant that the supporting structures around the hip,
12 those things were, in general, adequate.

13 Q. Did you check all the lab values that
14 were done after the first operation to determine
15 whether or not there was any problem with Mr. Smith
16 prior to doing the second operation? I'm talking
17 aside from the hemoglobin now. Did you check any
18 other values, sir?

19 A. I don't recall specifically.

20 Q. Why don't you take a look and see if any
21 of them caused you any concern.

22 A. I'm going through the -- the list of
23 available laboratory values postoperatively. There
24 are a number of values that some appear high, some
25 appear low, none of which that on this perusal I

1 can say that would have altered my thinking.

2 Q. What is a CPK, sir?

3 A. It is an enzyme, which is a protein
4 marker, so to speak, muscle function or muscle
5 activity.

6 Q. Heart muscle?

7 A. Can be.

8 Q. Can be. What's the purpose for taking it?

9 A. If you worried about -- you're taking any
10 instance of a cardiac problem to monitor or try to
11 get some idea of the level of cardiac damage.

12 Q. Could tell you if there's a heart attack,
13 couldn't it?

14 A. Can give you a trend, an impression.

15 Q. Sure. And --

16 A. The value by itself does not tell you
17 that.

18 Q. But it gives you something sort of like a
19 warning light, if it's abnormal --

20 A. Gives you an impression.

21 Q. You can follow it and you can see if
22 that's what in fact did occur; is that correct?

23 A. That's correct.

24 Q. How does it readout when you get the
25 value?

1 A. The value is usually high.

2 Q. I mean, what's it called, the MB band?

3 A. There are a number of what are called
4 fractions.

5 Q. Fractions?

6 A. Yeah. And the MB band is certainly one
7 of them. The interpretation of which I'm not
8 expert in.

9 Q. Well, if it's abnormal, you're an expert
10 at knowing the difference between normal and
11 abnormal?

12 A. Surely.

13 Q. Was it normal?

14 A. The CPK?

15 Q. Yes. Why don't you indicate the page
16 number for the record.

17 A. Page 131. And the value was 339.

18 Q. Why don't you show me where you look at
19 that?

20 A. I'm on page 131, bottom of 131 --

21 Q. I have trouble seeing this copy.

22 A. The bottom quarter of the page in the
23 left-hand column, it says "Serum, November 14th,
24 1,400 hours, MB."

25 Q. 139?

1 A. 131.

2 MS. BARTNIK: Page 131.

3 Q. (BY MR. KAMPINSKI) I still don't see
4 where you're looking. Why don't you show me the
5 original.

6 A. Bottom of the page here.

7 Q. November 14th, okay.

8 A. Okay. 1,400 hours, MB.

9 Q. Then it says what, 2 percent?

10 A. Says 2 percent shash 1.

11 Q. Okay. Then what else does it say?

12 A. It says under the general heading from
13 before, you have -- there is columns under the LDH
14 isoenzyme, it says "Normal CPK, it says 339 high,
15 under the LDH it says 196 high.

16 Q. Then what does it say, Doctor?

17 A. It says, number one, "Myocardial fiber
18 injury as might be seen in severe coronary
19 insufficiency. Total CBK elevated as in skeletal
20 muscle injury due to trauma, surgery, seizures,
21 heat stroke."

22 Q. So if I understand what you just read me
23 correctly, the level for the CPK is high; is that
24 correct?

25 A. Normal range is given above from zero to

1 300. This is at 339, mildly --

2 Q. It says, "Myocardial fiber injury as
3 might be seen in severe coronary insufficiency"?

4 A. That's what it says.

5 Q. Of course you ordered follow-up on that,
6 didn't you, to make sure that hadn't occurred,
7 right?

8 A. I did not personally, no.

9 Q. Why not? You were aware of this, weren't
10 you? You checked out the return of the lab values
11 when --

12 A. When CPK comes back as 339 following a
13 major surgical procedure, then that is certainly
14 not out of the range of an elevation due to the
15 surgery itself.

16 Q. Did you follow it up, sir, to make sure
17 that it was due to surgery as opposed to -- it
18 says, "Myocardial fiber injury as might be seen in
19 severe coronary insufficiency" in a man you knew
20 had high blood pressure, hypertension and other
21 heart problems? Did you follow it up, sir?

22 A. If you're asking me did I order a repeat
23 level, I did not.

24 Q. Why not? Because you felt this was okay?

25 A. Because I felt it was consistent with the

1 current state of this man being a postoperative
2 from a major surgical procedure.

3 Q. You ordered an EKG though, right?

4 A. The specific orders for any postoperative
5 total hip are not specifically ordered by me; they
6 may be done in consultation with me or the
7 attending internist and/or the residents in
8 training.

9 Q. You as the treating primary care
10 physician did it in conjunction with all these
11 other people. You ordered an EKG, right?

12 A. I have no idea whether it was done or not.

13 Q. What do you mean whether it was done? I
14 asked you whether it was ordered. I assumed if you
15 ordered it it would have been done.

16 A. I don't recall if I ordered it.

17 Q. Why don't you take a look.

18 A. No specific EKG was ordered. This man
19 was in the surgical intensive care unit where
20 routine and constant electromyographic monitoring
21 is done at all time, so he was in a setting where a
22 specific EKG need not necessarily have to have been
23 ordered.

24 Q. Okay. After the second surgery,
25 certainly you ordered one after he was taken to the



1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 Q. What's that?

2 A. "And cardiac monitor."

3 Q. And cardiac monitoring?

4 A. Cardiac monitoring.

5 Q. Then what does it say?

6 A. "A Fib," atrial fibrillation, "with
7 uncontrolled ventricular" -- looks like "STE and
8 frequent." I don't --

9 Q. "And frequent multifocal PVCs noted"?

10 A. "Frequent," if that's an F, yes,
11 "multifocal PVCs noted. Dr. Lee aware, L, left
12 dorsalis pedis palpable at three plus left knee
13 immobilizer with five pounds, traction in place.
14 Has sensation at level of sixth rib just below
15 nipple line."

16 Q. Okay. What did you do, leave, Doctor?
17 That was 5:25. What did you do, leave? Go home?
18 What did you do?

19 A. I don't recall at what time I went home.
20 This certainly does not have to be a chronology of
21 things as they happened. I may have been in the
22 recovery room early on. The operating --

23 Q. Early on, the very first thing it says,
24 second line, "Complains of shortness of breath." I
25 assume you were aware of that, weren't you?

1 A. I don't recall.

2 Q. What do you recall? You recall very
3 clearly about his saying let's have the operation.
4 What do you recall about him after the operation in
5 the recovery room?

6 A. The things that I tell you.

7 Q. Tell me.

8 A. I've told you that I recalled going to
9 the recovery room with the patient, the patient
10 leaving the operating room, having had no
11 difficulty with the anesthetic. When I left him,
12 he seemed to be stable, and at that time I left.
13 At what time this note was written --

14 Q. Says 5:25.

15 A. What time did this procedure end? Why
16 don't we look that up.

17 Q. Why don't you do that?

18 MR. CHARMS: Page 154.

19 A. Says we left the room -- the operating
20 room at 5:25 p.m.

21 Q. About the same time you left the recovery
22 room.

23 A. As I stated, the note as it's written,
24 even though the time of entry of the note is 5:25,
25 the recovery room and the operating room are just

1 maybe 50 feet from each other, so that certainly
2 would account for that, but the time this actual
3 note was written, I have no idea. I have my
4 recollection that at my time of leaving the
5 operating room, the recovery room, that the patient
6 was doing fine, was in no distress.

7 Q. So you called back what, about an hour,
8 two hours later to make sure that was true?

9 A. Dr. Stephens was aware that he was on
10 call --

11 Q. You didn't call back?

12 A. I did not call back.

13 Q. What did you do that night?

14 A. I would only speculate.

15 Q. Do you have any recollection of that?

16 A. Not specifically, no.

17 Q. What's the next thing you heard about Mr.
18 Smith?

19 A. Dr. Stephens gave me a call.

20 Q. What time?

21 A. I don't recall. It was either that night
22 or the next morning. I don't recall specifically
23 if it was the next morning or it was -- it was very
24 early. I don't recall the exact time frame.

25 Q. If it was that night, would you recall?

1 Would you go on down to the hospital if it was that
2 evening?

3 A. If he called me after the events, I don't
4 know. It would have had to have been that time.

5 Q. What time?

6 A. I would have had to have been in the
7 situation to really make the judgment at that point.
8 If he had told me that the family had already been
9 apprized, that they had been apprized by he and Dr.
10 Jackson, if everything in terms of the needs at the
11 hospital had been done including getting the proper
12 papers and everything in order, and the family was
13 no longer there, they usually aren't, then I would
14 not have gone down.

15 Q. Doctor, if you had been apprized of what
16 was happening to your patient, Alvester Smith,
17 let's say between the 5:25 and 7:15 entry, okay --
18 and in fairness to you, I obviously have to go by
19 the chart. I don't know what time she wrote it.
20 She's got 5:25. Looking at page 155, on the back
21 is 156, she's got a 5:40 entry, right? Almost
22 missed a page. So that's 15 minutes when things
23 are now being directed to Dr. Lee between the time
24 of your ending surgery and your no longer being
25 mentioned, correct?

1 A. That's apparently correct.

2 Q. And she was putting entries in -- when I
3 say she, I guess it was more than one nurse --
4 almost every five minutes, right? 5:40, 5:45, 5:50,
5 5:55, 5:57, 6:00, 6:05, 6:15, 6:30, 6:45, 7:00,
6 7:30, 7:45, 8:00, 8:15, 8:45. The nurse is
7 charting almost every five minutes on this?

8 A. The charts are labeled as such, yes.

9 Q. To your knowledge, did anybody try to
10 contact you before I guess 10:40, when a call was
11 put into your answering service?

12 A. I have no idea when anyone tried to
13 contact me.

14 Q. You were not contactable, apparently?

15 A. That is correct.

16 Q. Had you been contacted, sir, about your
17 patient's condition, let's say at 6:00 -- and you
18 can take a minute to read the notes up until 6:00.

19 "Atrial fibrillation is uncontrolled,
20 frequent multifocal PVCs noted, multifocal and
21 coupling noted on monitor." Would you have had
22 your patient moved to intensive care?

23 MR. GROEDEL: Objection. Go ahead.

24 A. Depends on the level of monitoring
25 available at that time.

1 Q. You're familiar with the hospital.

2 A. This was a Saturday afternoon. Depends
3 how many patients were in the intensive care unit,
4 how many nurses were available here, how much
5 monitoring was anticipated to be needed. That can
6 be determined by the person who is evaluating the
7 patient, not by reading the chart.

8 Q. Why weren't you there evaluating the
9 patient?

10 A. If you'd like me to repeat again, I was --
11 when I left the patient, the patient was stable,
12 the --

13 Q. Okay. I heard you the first time. If
14 you had been told this, would you have gone back
15 and evaluated him again? Would it have mattered if
16 you were told?

17 A. If the circumstances of the patient's
18 uncontrollability -- if you notice the first
19 several notes here only refer to medications, they
20 don't refer to the patient's condition. If Dr.
21 Lee's assessment, if my assessment, if the
22 resident's assessment, if the people in charge had
23 been concerned to the point that more monitoring
24 was necessary, then I'm sure those decisions would
25 have been made, but they would have had to have

1 been made at the setting.

2 Q. Then I'll ask you again. If you had been
3 told of these conditions, would you have gone back
4 to the setting so that you could make the decision?

5 MR. GROEDEL: At what point we
6 talking?

7 Q. Any point in this chart, Doctor, if you
8 had been told of this person's condition, would you
9 have gone back and monitored his condition?

10 MR. GROEDEL: Objection. Go ahead.

11 Q. Sure. Personally you, the doctor.

12 A. I would have talked with the
13 anesthesiologist and depending on my concern, if I
14 were there, I would have illicited him, or if I
15 thought the appropriate care was being given
16 through the anesthesiologist, as this was something
17 that's being controlled, then I wouldn't have -- I
18 don't think I would have been able to offer
19 anything that was appreciably different than what
20 was there. I would have been willing to listen to
21 his expertise since that is the area, the time
22 frame in which we're dealing.

23 Q. Do you agree with Dr. Stephens'
24 recommendation when he returned the call to
F5 apparently your answering service since he was on

1 duty that night to have Mr. Smith transferred to
2 intensive care? Do you agree with that?

3 A. Given the set of -- I have no idea what
4 set of circumstances he was made aware of.

5 Q. Well, assuming he was made aware of the
6 set of circumstances that existed that are set
7 forth in the record, do you agree with his referral?

8 A. That's not always the circumstance --

9 Q. Assume that that's what he was told.

10 MR. GROEDEL: Objection. Go ahead.

11 A. If those circumstances were as described
12 in the chart and I would have had no objection, I
13 probably would have recommended it as well.

14 Q. Can you tell me why in the world it
15 wasn't recommended by somebody who was there who
16 you left this man in charge of --

17 MR. CHARMS: Objection.

18 Q. -- either your resident or this Dr. Lee
19 or whoever?

20 MR. CHARMS: Objection.

21 A. I have no idea.

22 Q. Should he have been, in your opinion?

23 A. That is a decision that's made at the
24 time --

25 Q. In your opinion, sir.

1 A. I cannot make that opinion without having
2 the additional on-hand information of evaluating
3 the patient.

4 Q. Okay. Dr. Lee still work at the hospital?

5 A. Yes.

6 MS. BARTNIK: Objection.

7 Q. He still provides anesthesia to patients
8 that you operate on?

9 A. Yes, he does.

10 Q. You leave patients in his care when you
11 leave, right?

12 A. Yes, I do.

13 Q. Dr. Jackson still refer patients to you?

14 A. Yes, he does.

15 Q. Have you ever discussed this case with
16 him afterwards?

17 A. No, I haven't.

18 Q. You haven't?

19 A. Only in the vaguest of generalities.

20 Q. What does that mean?

21 A. It's an unfortunate thing that this
22 happened to such a young man. It's a major surgery.
23 The specifics of which I can't remember, but I can
24 recall at least mentioning his name and the set of
25 circumstances surrounding it.

1 Q. Just so I clearly understand it, it was
2 your decision, was it not, to clear this man for
3 surgery on November 17, 1984, correct?

4 A. It is at my request that the
5 anesthesiologist cleared this man for surgery.

6 Q. Was it Dr. Lee who cleared him?

7 A. Yes, sir.

8 Q. And based upon Dr. Lee's intimate
9 familiarity with this patient and all the tests
10 that he was able to review that were in the chart,
11 you relied upon Dr. Lee's clearing, correct?

12 A. I relied on Dr. Lee's judgment to clear
13 the patient before he cleared the patient for
14 surgery.

15 Q. Could you show me the anesthesia note
16 clearing this patient for surgery?

17 A. I don't see such a note.

18 Q. Take your time. I don't want you to miss
19 it. Are you saying it's not there?

20 MR. GROEDEL: It's in there.

21 MR. KAMPINSKI: Maybe you can help
22 us. I don't know where it is either.

23 MR. GROEDEL: Page 152.

24 Q. (BY MR. KAMPINSKI) Is this a clearance,
25 page 152?

1 A. My discussion with Dr. Lee did not
2 involve written exchange. The fact I had not seen
3 this document until I'm looking at it now. But he
4 in fact verbally cleared him for me or else we
5 wouldn't have gotten him into the operating room.

6 Q. Okay.

7 Q. He wrote down some lab ASA status, did he
8 not? Dr. Lee did?

9 A. ASA physical status?

10 Q. Yes.

11 A. Yes.

12 Q. Are those accurate? That whole box there
13 where it says laboratory investigations, it says HB.
14 What's HB?

15 A. That stands for hemoglobin.

16 Q. What's it say there?

17 A. 15.8.

18 Q. And was that the fact on November 17th,
19 sir?

20 A. November 17th was 10.8 and 33.4.

21 Q. Do you have the actual lab slips? Are
22 they in there, in the chart? Can you find them for
23 me?

24 A. Blood gases, probably only exist in the
25 printout.

1 Q. That printout, computer printout, would
2 that have been available on the 17th in the chart?

3 A. You can always call the lab.

4 Q. Apparently he never called, did he?

5 MR. CHARMS: Objection.

6 MR. GROEDEL: Objection.

7 A. I have no idea, sir.

8 Q. What date is the value that he put down
9 as the hemoglobin value on Alvester Smith prior to
10 his approving your performing surgery on this man
11 on November 17th?

12 A. He put down November 12th.

13 Q. That was before the first surgery?

14 A. That is correct.

15 Q. That was 15.8?

16 A. 15.8 and 48.9.

17 Q. And the fact of the matter is that it was
18 10 point --

19 A. 10.8, 33.4.

20 Q. On November 17th?

21 A. That's correct.

22 Q. Wouldn't that be important for an
23 anesthesiologist to know in terms of his approving
24 a man for surgery?

25 MR. GROEDEL: Objection.

1 MR. CHARMS: Objection.

2 A. Any lab value of that nature would be
3 relevant but would not change the nature of what
4 had to be done.

5 Q. You don't know that, Doctor.

6 A. For a closed procedure, it would not.

7 Q. And for a person with prior heart
8 problems, it just doesn't matter, right?

9 MR. GROEDEL: Objection.

10 Q. Just doesn't matter; is that your
11 testimony?

12 MR. GROEDEL: Objection.

13 A. That's yours.

14 Q. I think that's what you just said. Does
15 it matter or does it not matter?

16 A. No, sir. I said it does for
17 consideration, but for the type of procedure --

18 Q. How can it be a consideration if you put
19 down 15.8?

20 A. That's not my writing.

21 Q. I know.

22 A. I have no idea when he wrote the note, at
23 what time.

24 Q. But it's wrong, isn't it?

25 MR. CHARMS: Note a continuing

1 objection to the entire line of questioning.

2 Q. (BY MR. KAMPINSKI) It's wrong, isn't it?

3 A. The entry values for the hematocrit and
4 hemoglobin do not reflect that day's value.

5 Q. Let me see the original chart. I think
6 I'll be able to finish up fairly quickly, Doctor.

7 Doctor, in your experience, aren't there
8 separate sheets for the different tests, the blood
9 value tests?

10 A. It depends on the hospital. If this --
11 if this was at the time of the changeover to the
12 computer systems being on each of the nursing
13 stations floor, then there may have been only
14 printouts available, may have been written reports
15 that were written down by the secretary or whatever.
16 But the official report, the one that comes to the
17 chart at that point may have already converted to a
18 computer printout system, and these come once a day,
19 couple times a day, depending how often if needed
20 and if available on the CRT on the floor.

21 Q. Okay. But the separate individual lab
22 slips --

23 A. I can't attest to that.

24 Q. They wouldn't be here?

25 A. If they were still being used at that

3

1 time, then they would have been in there.

2 Q. You just don't know?

3 A. I don't know what was being used.

4 Q. Why would they still use the blood gas
5 slips if they had switched --

6 A. Different department.

7 Q. In other words, respiratory is different
8 than the regular lab?

9 A. Sure. The regular lab is in pathology,
10 as a matter of fact.

11 Q. In pathology?

12 A. In most places. I assume it's that way
13 at St. Luke's too.

14 Q. You say it's no big deal in terms of just
15 being able to pick up the house phone there,
16 calling the lab and seeing what a value is?

17 A. It's available.

18 Q. Okay. How do you know what time these
19 tests get done and get put into the chart?

20 A. Depends what time you request them. If
21 you request them as a staff procedure, it can be
22 done right away. If you request it as an early
23 morning value, it can be done by 10:00. It depends
24 on the nature of your request.

25 Q. Can you tell, Doctor, when the hemoglobin

1 values were requested and when they were put in the
2 chart? Is there any way you can determine that?
3 wait. Maybe we can tell. What page was that? Do
4 you recall? That one we were looking at?

5 A. 150 something. I don't remember.

6 MR. CHARMS: Are you talking about
7 the pre-anesthesia thing, Chuck?

8 MR. KAMPINSKI: No. The lab values
9 that the doctor was looking at. 127.

10 Q. (BY MR. KAMPINSKI) Okay. 127. Doctor,
11 I'm going to ask you to look at page 127, if you
12 would, sir. And that is the computer printout
13 apparently that lists the hemoglobin values for the
14 various points in time that Mr. Smith was in the
15 hospital; is that correct?

16 A. That is correct.

17 Q. Could you indicate, please, the time and
18 date on the bottom of that computer readout?

19 A. That particular readout is November 21,
20 1984.

21 Q. Four days after Mr. Smith died.

22 A. There is a preliminary sheet, a pink
23 sheet that comes out daily. As the values
24 accumulate, those temporary sheets are weeded out
25 and a final cumulative sheet is put in at some

1 point, you know, in the distant future, so it's
2 hard to say exactly what time those values were
3 there.

4 Q. Okay.

5 A. This is a summary sheet only.

6 Q. Okay. What day would -- and up on top
7 it's got discharge, November 19, 1984. I mean,
8 that's not right, is it?

9 A. The --

10 Q. He died.

11 A. Well, you know, by the time the computer
12 system was made aware of it, it may have been
13 November 19th.

14 Q. You may not know the answer to this, and
15 I apologize if you don't. I don't. Just tell me
16 though, do you know when these hemoglobin tests
17 would have actually hit the chart?

18 A. No, I don't.

19 Q. Okay. For example, the one for November
20 14th and 15th?

21 A. Well, if you notice there are routine
22 postoperative orders for I believe the first and
23 the third day after surgery that would have put
24 this on the third day after the initial surgery, so
25 the fact --

1 Q. Could you look at page 126 for me, Doctor?
2 I'm probably just reading this wrong. What's the
3 date at the bottom down there? Is that the 16th?

4 A. November 16th.

5 Q. Is that what that date says?

6 A. November 16th.

7 Q. Okay. Now, you keep making distinctions,
8 sir, between this being a closed procedure and
9 other procedures which would be open?

10 A. Right.

11 Q. That's important to you, I take it?

12 A. Surely, among other considerations.

13 Q. And is it important because it makes a
14 difference in analyzing the patient for his
15 suitability for surgery, the fact that it's closed
16 as opposed to open?

17 A. For the type of surgery, yes.

18 Q. He still has to undergo an anesthetic;
19 does he not?

20 A. That anesthetic can vary. The length of
21 the anesthetic can vary. Any number of
22 considerations.

23 Q. What was it here? What was the
24 anesthetic?

25 A. The anesthetic was a spinal.

1 Q. What was his anesthetic when you did the
2 open procedure?

3 A. General.

4 Q. So that makes a difference then too in
5 terms of doing surgery?

6 A. It makes a difference in terms of the
7 anticipated length of surgery. In terms of
8 choosing the anesthetic, that is not something that
9 I do. The anesthesiologist chooses the specific
10 anesthetic. If he had chosen to do a general
11 anesthetic, then since that is his area of
12 expertise, I would probably have gone along with
13 him.

14 Q. The ultimate decision, whether Mr. Smith
15 was suitable or not suitable, I take it, rests with
16 you. You can reject or accept an
17 anesthesiologist's decision, but you don't have to,
18 I take it? Would that be fair?

19 A. That's fair.

20 Q. Okay. So that if you didn't feel that
21 Mr. Smith, based upon all the appropriate testing,
22 was not a suitable candidate for surgery, that was
23 your decision then, correct?

24 A. In conjunction with the other parameters
25 available.

1 Q. Sure. I mean, you would consult whoever
2 you felt appropriate to consult, whether that be
3 Dr. Jackson, Dr. Lee. Additional testing, that was
4 your decision, was it not?

5 A. Yes, it was.

6 Q. All right, Doctor. I was provided with a
7 set of records here. Why don't you take a moment,
8 look at them, just make sure they're accurate.
9 Those I've been told are your office records
10 pertaining to Mr. Smith. Just take a moment to
11 look at them, make sure that's correct.

12 A. Yes, this is a copy of the office records.

13 (Smith Exhibit No. 1 was
14 marked for identification)

15 Q. (BY MR. KAMPINSKI) Handing you what's
16 been marked as Smith Deposition Exhibit 1, I'll
17 just ask you if you can identify that, please, for
18 the record.

19 A. I identified it as my office record.

20 Q. Okay. Was Dr. Lee -- he was not the
21 anesthesiologist who did the first operation, was
22 he?

23 A. I would have to check the record.
24 Anesthesiologist of record is Dr. Trusso.

25 Q. Okay. What I'd like you to do, if you

1 would, please, Doctor, is read your writing. I
2 assume this is yours, in your office record.

3 A. Entry of 10-12-84. "Injury to left hip
4 at age 13. Refused surgery. Pain times three
5 months. Limp afterwards. Now said pain times
6 three months again. Examination gross limp,
7 l-i-m-p. No flexion contracture. Adduction
8 contracture. Underneath that 15 degrees under
9 abduction, 10 degrees under adduction. Next line,
10 painful IR, ER," meaning internal rotation,
11 external rotation. "Leg length inequality -- 1
12 inch shorter on left. X-rays. Diagnosis, remote
13 fracture, left hip -- painful. Recommendation,
14 arthroplasty."

15 Q. Okay. Is that all of it? Your billing,
16 Doctor, for the services that you did, that does
15 not include the hardware, does it, that would have
18 been charged by the hospital?

19 A. By the hospital.

20 Q. That's just for your services?

21 A. Correct.

22 Q. Was there an original order for blood?
23 Did you anticipate giving blood to Mr. Smith?

24 A. There was an order on admission to type
25 and cross match for two units of packed red blood

1 cells.

2 Q. All right. That would just be --

3 A. Routine.

4 Q. -- in case you needed it?

5 A. Yes, this would be in case we needed it,
6 yes.

7 MR. KAMPINSKI: All right. Why
8 don't we take about a five minute break then I
9 think I'll be in a position to just finish up with
10 you.

11 (Short recess taken)

12 That's all the questions I have,
13 Doctor. Some of the other attorneys may or may not
14 have questions for you.

15 MR. CHARMS: Doctor, I have no
16 questions for you at this time, but I'm going to
17 reserve my right if something comes up. Thank you.

18 MS. BARTNIK: I have no questions at
19 this time either. Thank you, Doctor.

20 MR. KAMPINSKI: You've got a right
21 to read your testimony or you have a right to waive
22 your signature. Your attorney will advise you.

23 MR. GROEDEL: We'll take a look at
24 it.

25 (Adjourned at 4:21 p.m.)

1 I have read the foregoing transcript from page
2 1 to page 81 and note the following corrections:

3

4 PAGE: LINE: CORRECTION: REASON:

5

6

7

8

9

10

11

12

13

14

15

16

CURTIS W. SMITH, MD

17

18 Subscribed and sworn to before me this

19 day of , 1986.

20

21

Notary Public

22

23 My Commission Expires:

24


25

1 THE STATE OF OHIO,)
2) SS:
COUNTY OF CUYAHOGA.)

CERTIFICATE

3 I, Lorraine J. Box, a Notary Public within and
4 for the State of Ohio, duly commissioned and
5 qualified, do hereby certify that CURTIS W. SMITH
6 was by me, before the giving of his deposition,
7 first duly sworn to testify the truth, the whole
8 truth, and nothing but the truth; that the
9 deposition as above set forth was reduced to
10 writing by me by means of Stenotypy and was
11 subsequently transcribed into typewriting by means
12 of computer aided transcription under my direction;
13 that said deposition was taken at the time and
14 place aforesaid by agreement of counsel; that the
15 reading and signing of the deposition by the
16 witness were expressly waived; and that I am not a
17 relative or attorney of either party or otherwise
18 interested in the event of this action.

19 IN WITNESS WHEREOF, I hereunto set my hand and
20 seal of office at Cleveland, Ohio, this 30th day of
21 September, 1986.

22
23 
24 Lorraine J. Box, RPR, Notary Public
25 Within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 44113
My Commission Expires: June 20, 1987.

[illegible]