

ARBITRATION HEARING

In the Matter of:

FRANCES SMITH

v.

ST. LUKE'S TIOSPITAL, et al.

)
)
)
)
)

Case No. 100877

- - -

Excerpt of Arbitration Hearing had in the above-captioned matter before Mr. William Coyne, Arbitrator, at 17-D Justice Center, Cleveland, Ohio, commencing at 9:30 a.m., on Monday, the 26th day of October, 1987.

- - -

APPEARANCES:-

Charles Kampinski Co., LPA, by:
Charles Kampinski, Esq.,

On behalf of the plaintiff.

Arter & Hadden, by:
Michael C. Zellers, Esq.,

On behalf of Defendant
St. Luke's Hospital.

Reminger & Reminger, by:
Marc W. Groedel, Esq.,

On behalf of Defendants
Timothy L. Stephens, Jr., M.D. and
Curtis W. Smith, M.D.



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

APPEARANCES: (continued)

Jacobson, Maynard, Tuschman & Kalur, by:
Joan Tomasco, Esq.,

On behalf of Defendant
S. J. Lee, M.D.

Kitchen, Messner & Deery, by:
Gene Meador, Esq.,

On behalf of Agnes Sims, R.N.

- - -

* * * * *

MR. KAMPINSKI: I would call Dr. Smith at this time as if on cross-examination.

MR. COYNE: Dr. Smith, you want to raise your right hand?

CURTIS W. SMITH, M.D., PLAINTIFF'S WITNESS, SWORN

CROSS-EXAMINATION

BY MR. KAMPINSKI:

Q. Would you state your full name, sir?

A. Curtis West Smith.

Q. And you are a doctor, is that correct?

A. That is correct.

Q. Orthopedic surgeon?

A. That is correct.

Q. Are you Board-certified, sir?

A. No, I am not.

Q. How many times have you failed the test?

A. I have taken the test three times, and I have failed all three times.

Q. You were an attending physician of Dr. Smith, were you not, sir?

A. Yes, I was.

Q. And as the attending physician, was it not your responsibility, sir, to be apprised of and aware of the various tests, testing that was

1 done on Mr. Smith during his hospitalization, and
2 the lab value findings?

3 A. It was my responsibility to oversee his
4 management, that is correct. In dealing with a
5 patient who is as complicated as Mr. Smith was,
6 these, parts of these responsibilities are
7 assigned to others because of their expertise in
8 those particular areas. That was done.

3 Q. I see.

10 A. I was apprised of the values that those
11 people thought were appropriate.

12 Q. And are those people you are referring
13 to residents in the hospital?

14 A. I am referring to Mr. Smith's long-time
15 internist, Dr. Edgar Jackson, and the
16 anesthesiologist who was in charge of both cases
17 Dr. Trusso and Dr. Lee, and to Dr. Oliver who was
18 the intensivist who was taking care of Mr. Smith
13 for a period of approximately 48 hours after the
20 first surgery.

21 Q. So that you were apprised then, were you
22 not, of Mr. Smith's elevated CPK and the finding
23 that there was two percent Mb band, therefore
24 related to the heart; you were apprised of that?

25 A. Those specific --

1 Q. Were you apprised of it?

2 A. If you will allow me to answer, I will.

3 Q. I think it's a simple question, Doctor;
4 yes or no.

5 MR. COYNE: What time are we
6 talking about?

7 MR. KAMPINSKI: Any time after they
8 were taken on the 14th.

9 Q. (BY MR. KAMPINSKI) At any time are you
10 aware of it?

11 A. I am not sure at this time that I was.

12 Q. Were you aware of the drop in hemoglobin,
13 Doctor?

14 A. Yes, I was.

15 Q. When were you aware of the drop in
16 hemoglobin, sir?

17 A. I was aware throughout his course.

18 Q. Do you recall your deposition being
19 taken, Doctor?

20 A. If you're asking me specific numbers, I
21 will submit once again that the individual numbers
22 I may not recall specifically, but the trend,
23 certainly the trend for any major orthopedic
24 surgery such as this requires or entails a
25 significant amount of dissection, cutting into

1 large muscles in the body. Postoperative bleeding
2 and subsequent drop in hematocrit is expected, not
3 unexpected.

4 Q. Sure.

5 A. But the level to which he dropped was
6 not an unacceptable level, and certainly higher
7 than any level that we normally transfuse for.

8 Q. I asked you if you were aware of --

9 A. I was generally aware of the level. If
10 you ask me if I was aware for each specific level,
11 I cannot attest to that.

12 Q. Do you recall your deposition being
13 taken, Dr. Smith?

14 A. I recall the deposition.

15 Q. You were under oath at that time, sir?

16 A. That's correct.

17 Q. August 25, 1986. Page 47, Counsel. I
18 will read it if you'd like me to go ahead.

19 MR. GRODEL: Are you going to
20 read it?

21 MR. KAMPINSKI: Yes. Just a
22 question.

23 Q. (BY MR. KAMPINSKI) And do you recall
24 the following question and answer, Dr. Smith?

25 "Question: It's your testimony that you

I think you might have been aware of the drop in hemoglobin, or you are just not sure right now?

"Answer: I would have to say that I am not sure."

Are you sure now? You weren't sure then.

A. If you interpret that question the way you have, then it certainly -- it would reflect that I was not aware in general. What I meant to imply and what I attest to at this point is that the -- if you were asking whether I was aware of any unusual drop, then I was not, but this was not considered an unusual drop.

Q. How long after the surgery does hemoglobin drop, Doctor?

A. It may drop for several days.

Q. Isn't it normal that within 24 hours loss that's occurred normally as a result of the surgery will have occurred?

A. That's not necessarily true.

Q. But can't a hemoglobin drop also reflect other problems in the body, such as blood loss from somewhere else?

A. It certainly can.

Q. Sure. And you investigated that, didn't you, on Mr. Smith, to determine whether there was

1 a blood loss somewhere else?

2 A. Mr. Smith exhibited nothing to indicate
3 that there was blood loss other than where it was
4 expected at this time.

5 Q. How about coffee ground emesis? Why
6 don't you tell the panel what that is, Doctor.

7 A. A coffee ground emesis and emesis
8 basically are vomitus. It includes a -- vomitus
3 generally will be reflective of the contents of
10 the stomach or the abdomen at that point. If it
11 is coffee ground, it is considered to potentially
32 have blood in it.

13 Q. Guaiac positive means what?

14 A. A guaiac test is a test that can test
15 positive for blood as well as other substances.

16 Q. So if it tests positive, that's another
17 indication there is some type of bleeding going on,
18 is that right?

13 A. There is an indication that there is
20 possibly some type of bleeding, yes.

21 Q. And you investigated that, too, didn't
22 you?

23 A. I investigated it to the point that the
24 usual cause for coffee ground emesis after a
25 surgery such as this is stress ulceration in the

1 stomach, and that is a very common finding. That
2 is a very common occurrence after this sort of
3 surgery.

4 Q. Doctor, you didn't even know that he had
5 abdominal pain, did you?

6 A. I was aware that he had only in --
7 probably the following morning. The first
8 abdominal problems that I am aware of, and I would
9 have to review the -- I'm sure you are looking at
10 my testimony from before.

11 Q. Yes, I am, and I'm going to read it to
12 you in a minute.

13 A. I would be happy to listen to it.

14 Q. Page 32.

15 MR. O'NEILL: Excuse me, Mr.
16 Kampinski, I want to clarify one point. Doctor,
17 did you say that coffee ground emesis is a common
18 occurrence after this type of surgery?

19 THE WITNESS: Abdominal pain, and
20 if the emesis happens, a gastritis, a bleeding
21 ulceration after a significant stress, whether it
22 be surgery, an automobile accident, or anything
23 that would perpetrate significant stress on the
24 body can produce symptoms of stress ulceration,
25 stress gastritis. For the kind of surgery we are

1 talking about, a major orthopedic surgical
2 procedure, stress ulceration is one of the more
3 common findings that we find after surgery.

4 When patients -- the ileus that was
5 mentioned, the slowing down of the bowel, the
6 discomfort in this patient experienced were not
7 out of the realm of the usual things that we can
8 often see after this kind of surgery.

9 MR. O'NEILL: Okay. Thank you,
10 Mr. Kampinski.

11 Q. (BY MR. KAMPINSKI) Page 32, line 12:

12 "Question: So he was complaining of
13 abdominal pain?

14 "Answer: According to the note.

15 "Question: You didn't do any surgery on
16 his abdomen, did you, Doctor?

17 "Answer: No, I did not.

18 "Question: Okay. Were you aware of the
19 fact that he was in abdominal pain, sir?

20 "Answer: No. At this point, no.

21 "Question: This is the 17th, I mean
22 the date that you performed surgery on him.

23 "Answer: If you're asking me was this
24 information either relayed to me, or was I aware
25 he was having abdominal pain, no, I was not."

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

12 Q. Doctor, if you were --

14 Q. Excuse me, sir. If you weren't aware of
15 the abdominal pain, how do you know it was a
16 common finding?

18 Q. If you weren't aware of it, how do you
19 know what it was, sir?

23 Q. I'd like an answer to the question.

24 If you were not aware of it --

25 MR. COYNE: Wait. All right.

1 We'll get a complete question, and then you will
2 get a complete opportunity to answer.

3 THE WITNESS: Thank you.

4 MR. COYNE: So ask a question,
5 and you can answer it. Go ahead.

6 Q. (BY MR. KAMPINSKI) What did you do to
7 insure that this was just a common finding, that
8 is, coffee ground emesis and the abdominal pain
3 and the fall in hemoglobin? Did you order any
10 additional tests, Doctor?

11 A. No, I did not.

12 Q. Why not?

13 A. Because the falling in the hemoglobin at
14 the level that it was and even at the level of his
15 surgery was not of a magnitude that we would
16 necessarily -- that we would commonly see with an
17 inordinary bleed from this type of surgery.

18 Q. Doctor, you keep referring to "we".
19 Let's refer to you for a minute. Okay. Let's
20 refer to you. You testified or you did testify
21 under oath that you didn't know what the
22 hemoglobin was, is that correct?

23 MR. GRODEL: Objection. No.
24 That's not what he said.

25 Q. (BY MR. KAMPINSKI) Is that correct, sir?

1 A. I would have to read my testimony.

2 Q. We'll do this again. I think I just
3 read it to you.

4 Page 47 again. I will read it again if
5 you didn't hear me the first time. It's your
6 answer, Doctor.

7 MR. O'NEILL: What's the page,
8 please?

9 MR. KAMPINSKI: It's 47.

10 Q. (BY MR. KAMPINSKI) "Question: And it's
11 your testimony that you think you might have been
12 aware of the drop in hemoglobin, or you're not
13 sure right now?

14 "Answer: I would have to say that I'm
15 not sure."

16 A. My answer --

17 Q. And that's what you said?

18 A. Yes. My answer is that I was -- if I
19 could please make a distinction; when I answered
20 your question initially, my impression was that
21 you were asking me for specific values. I only
22 know the specific values after reviewing the chart.
23 I know the trend.

22 The trend was that it was dropping,
25 however, this was not a precipitous drop. It was

1 the kind of drop we see after this degree of
2 surgery. There was nothing in the hemoglobin
3 level that would be out of line with the kind of
4 surgery that he had.

5 Q. But you do recall now knowing that there
6 was a drop? Is that what your testimony is?

7 A. I cannot recall specific values, sir.

8 Q. They were taken every day, weren't they?

9 A. They were taken periodically. They are
10 routinely taken at the first day and at the third
11 day. If there is some indication if a patient is
12 transferred to the intensive care unit, they are
13 taken on a more regular basis under the auspices
14 of the intensivist.

15 Q. Do you ever look at them, sir, or do you
16 leave that to your residents, or do you just not
17 bother with them?

18 A. The answer is that I am aware of the
19 values.

20 Q. Are you aware of the fact that on the
21 17th Dr. Jackson ordered tests to be done more
22 frequently?

23 A. Yes, I am.

24 Q. You have read that note, haven't you?

25 A. Yes, I have.

1 Q. But you are not aware -- you weren't
2 aware of that on that particular day, were you,
3 Doctor?

4 A. I am not sure I was aware of that note.
5 I can't recall.

6 Q. Why did he order them taken more
7 frequently? Do you know why he wanted them taken
8 more frequently, Doctor?

9 A. He was concerned, I would anticipate,
10 that the coffee ground emesis was noted the night
11 before, that he was concerned that this may not --
12 whether or not there was any significant further
13 drop in his hemoglobin

14 Q. And he wasn't the attending physician,
15 as I understand it; you were, is that right? You
16 were Mr. Smith's attending physician in the
17 hospital, right?

18 A. I was his physician in charge of his
19 orthopedic care.

20 Q. And it was your testimony -- I heard Mr.
21 Groedel say that you believe you talked to Mr.
22 Smith?

23 A. Dr. Jackson.

24 Q. I'm sorry, Dr. Jackson, before having
25 Mr. Smith go to surgery on the 17th, is that your

1 testimony?

2 A. That is my testimony.

3 Q. All right. You are aware of the fact
4 that he doesn't recall any such conversation?

5 A. I'm also aware of the fact that he does
6 not dispute if I said that I did talk with him as
7 well.

8 Q. Okay. He doesn't recall it at all?

9 A. I did talk with Dr. Jackson.

10 Q. Did he tell you that the patient was
11 unstable?

12 A. No, he did not.

13 Q. Are you aware of the fact that he's
14 testified that that's his opinion, that the
15 patient was unstable on the 17th? Are you aware
16 of that?

17 A. I am aware that he spoke with Dr.
18 Jackson, apprised him of Mr. Smith's dislocated
19 hip and apprised him of the necessity of reducing
20 the hip, that we would try to do this as a closed
21 procedure, so we would not -- we would subject Mr.
22 Smith to as little risk as possible.

23 Q. Was it an emergency, Doctor?

24 A. It was urgent.

25 Q. Could it have waited for Mr. Smith to

1 have been stabilized if, in fact, he was unstable?

2 A. The difficulty with which these
3 reductions are done make expediency, in terms of
4 reducing the hip, a primary concern.

5 The longer a hip is left out, the more
6 probable an open, meaning a cutting reduction,
7 meaning more blood loss, further danger to the
8 patient being a necessity.

9 The speed with which these reductions
10 are achieved greatly enhances your ability to
11 reduce it without a cutting procedure.

12 Q. Is that a yes or no, Doctor?

13 A. That's my answer.

14 Q. Well, could it have waited, if in fact,
15 he was unstable for him to be stabilized? That was
16 my question.

17 A. The answer is that it could have waited.
18 It probably would have made the surgery more
19 difficult.

20 Q. From an orthopedic standpoint, correct?

21 A. Probably from every standpoint.

22 Q. When you did the first operation, you
23 weren't planning to use methyl methacrylate, were
24 you, Doctor?

25 A. Methyl methacrylate is a bone cement

1 that was commonly used. I was not planning to use
2 it, but I am prepared to use it on each case.

3 Q. Well, the reason you used it is that
4 while you were doing the reduction -- or the
5 procedure, the arthrotomy, you cracked his bone,
6 right?

7 A. The osteotomy, the hip into the joint.

8 Q. Okay.

9 A. It was not at that point. It was in the
10 process of fitting the components of a hip
11 replacement to --

12 Q. Whatever. You cracked it while you were
13 in the process and that's why you cemented it,
14 right?

15 A. If you are asking for clarification, I
16 am happy to give it. I was trying to explain what
17 happened, if that's okay.

18 Q. It cracked during your procedure?

19 A. During the procedure.

20 Q. And that's why you used cement, right?

21 A. That's why we cemented.

22 Q. What if any discussion did you have with
23 any cardiologist or any other orthopods or anybody
24 with respect to the potential effect of that
25 cement on Mr. Smith, who you knew to be

1 hypertensive; you knew that, didn't you?

2 A. Sure.

3 Q. All right. Sure. And did you discuss
4 that with Dr. Jackson or anybody else before you
5 used that cement?

6 A. In general terms, I can't recall. When
7 I discussed these procedures with the attending
8 who refers the patient, I let them know my initial
9 or my initial treatment plan.

10 Mr. Smith was a young man. I planned to
11 do a procedure that did not involve cement, since
12 cement has some of its own risk, but I always
13 imply that if the procedure does not go as planned,
14 and we have to do something different, you always
15 have alternatives, and backup procedures to do
16 that are equally as well accepted as good
17 orthopedic procedures.

18 Cementing a prosthesis is a very fine,
19 and up until five years ago, was the only way to
20 cement in prostheses. This is not a deviation
21 from standard care. It is actually the most
22 common way to fit a femoral prosthesis into the
23 bone.

24 Q. Are there adverse cardiovascular effects
25 with a cardiac patient undergoing total hip

1 replacements with the use of methyl methacrylate?

2 A. Yes, there are.

3 Q. Is that why you didn't want to use
4 cement originally, Doctor, or did you even give it
5 any thought, sir?

6 A. The reason I did not want to use cement
7 was primarily a consideration of age. The studies
8 that have been done more recently on cement, those
9 were compiled as a possible risk of cement, but
10 the fixation of attaining a hip prosthesis that is
11 useful to the patient, you have to use those
12 techniques that are commonly in practice, which
13 methyl methacrylate still is, to secure the best
14 figuration, the best hip replacement you can for
15 Mr. Smith.

16 When you are in the operating room, you
17 make a judgment. You make a judgment as to the
18 quality of the bone, as to which method of
19 figuration will work best. In my judgment,
20 because of the crack in the calcar, I wanted to
21 secure a more stable fixation. Methyl
22 methacrylate, although it has some risk, is a
23 widely-acceptable way to secure the femoral
24 component in the bone.

25 Q. And you did that knowing that he was

1 hypertensive and that there were potential
2 cardiovascular complications as a result of its
3 use; you knew that, right? And you did it despite
4 that, right?

5 A. I did it because that was the way to
6 secure his fixation. The anesthesiologists are
7 alerted when we put in cement, we are aware of a
8 blood pressure drop that happens with the
9 insertion of methyl methacrylate. We did it in
10 the usual manner for inserting the cement, which
11 is the standard way to perform a hip prosthesis.

12 Q. Why did he go to intensive care after
13 the surgery on the 14th?

14 A. He went to intensive care because the
15 anesthesiologist, who happened to be Dr. Trusso in
16 that situation, was concerned because of his
17 pressure drop at the time of the induction, and
18 the blood gases that were done during the case
19 showed some oxygenation that was not consistent
20 with the amount of oxygen he was receiving via his
21 endotracheal tube, so he thought it would be a
22 good idea to take him to surgical intensive care.

23 Q. And as the attending physician for Mr.
24 Smith, you of course, followed up on his condition
25 in intensive care?

1 A. Yes, I did.

2 Q. You checked the CPK and the Mb so you
3 knew that he had had a heart attack, you are aware
4 of that?

5 A. The CPK indicates myocardial damage.
6 The EKG on the initial visit, on the initial
7 admission to the hospital, shows myocardial
8 ischemia, heart damage, the subsequent EKG shows
9 myocardial ischemia, heart damage, the Mb fraction
10 ordered by Dr. Oliver as part of the monitoring
11 for him in the intensivist situation, he thought
12 that fraction not to be of significance in light
13 of his prior EKG history.

14 He did not think that reflected new
15 damage.

16 Q. When?

17 A. I relied on -- not specifically that
18 value, but the general notes that are entered in
19 Mr. Smith's chart from the time after his initial
20 surgery by Dr. Jackson, by the intensivist, Dr.
21 Oliver, all of which say that he was stable,
22 cardiovascularly, throughout the whole procedure.

23 Q. Dr. Jackson says he wasn't aware of that
24 value, sir.

25 A. I didn't say that value. I said

1 cardiovascularly. I didn't say that value.

2 Q. You were aware of the value, weren't you?

3 A. No, I was not.

4 Q. I see. You wouldn't have even known
5 what to do with it, would you; you are not an
6 expert on CPK or Mb bands, are you?

7 A. If you're asking me do I treat
8 cardiovascular problems, I do not.

9 Q. So that you got a cardiology consult, is
10 that right?

11 A. No, I did not.

12 Q. Why not?

13 A. I think I have just elucidated on that,
14 sir.

15 MR. O'NEILL: What was the
16 response?

17 THE WITNESS: I have --

18 MR. GRODEL: Tell him again.

19 THE WITNESS: I did not get a
20 cardiology consult because the experts that were
21 helping manage this patient medically --

22 Q. (BY MR. KAMPINSKI) Excuse me. The
23 expert, you say Dr. Oliver, the resident?

24 A. Dr. Oliver is not a resident. He is the
25 intensivist. He's not a resident.

1 Q. Okay.

2 A. He is the attending physician in charge
3 of the intensive care unit.

4 Q. I'm sorry. Go ahead.

5 A. Dr. Jackson, his internist; Dr. Oliver,
6 the intensivist in the intensive care unit,
7 repeatedly concluding the premature atrial beats
8 on the EKG. Dr. Jackson even in his readmission
9 note to the hospital indicated that he had done
10 periodic EKG's on Mr. Smith in his office,
11 indicating basically the same pattern of EKG that
12 was noted throughout the hospital stay, that these
13 had been stable.

14 Dr. Oliver, the intensivist, noted that
15 as well, thought that he was stable
16 cardiovascularly. Both of those experts in the
17 medical area said that he was stable. I relied on
18 their opinion.

19 Q. Okay. He was returned to the floor on
20 the 16th then from the intensive care?

21 A. Yes, he was.

22 Q. And did you rely on them, then, from the
23 16th through the 17th? Did Dr. Oliver see him?

24 A. Rely on him in what manner are you
25 saying?

1 Q. In any manner. I mean, did you have Dr.
2 Oliver, the intensivist, come back to see him, or
3 did you have any other cardiology consults? Did
4 you?

5 A. I did not get a cardiology consult.

6 Q. When you made the decision that Mr.
7 Smith was going to go back to surgery, why didn't
8 you?

9 A. In terms of his cardiovascular status,
10 nothing had changed.

11 Q. Well, you were going to do an operation
12 on the man, weren't you?

13 A. I was going to do a closed reduction.

14 Q. Pretty simple procedure?

15 A. It is a procedure that requires some
16 effort on the part of the person who is performing
17 the closed reduction, but in terms of simplicity
18 from a surgical standpoint, yes, it's a relatively
19 simple procedure.

20 Q. And did you get an anesthesiologist to
21 clear him for anesthesia, Dr. Lee?

22 A. I called an anesthesiologist on call.

23 Q. And you discussed Mr. Smith with him,
24 did you?

25 A. I don't recall at that point. I believe

1 Dr. Lee was in another surgery at that time.

2 Q. All right. So before you had the
3 surgery on Mr. Smith, the two of you sat down, you
4 apprised him of his prior cardiovascular problems,
5 and the two of you discussed the risks attendant
6 to that additional surgery, didn't you? Right?

7 A. Specifically, I don't remember. In
8 general, that is what we do, yes.

9 Q. In general. Well, what did you tell him
10 specifically about his cardiovascular status?

11 A. I don't recall my specific conversation
12 with Dr. Lee other than to inform him of what we --
13 what I basically needed to do, the urgency with
14 which I needed to do it.

15 Q. Are you telling me that you didn't
16 discuss his cardiovascular status with the
17 anesthesiologist; is that what you're saying?

18 A. If you're asking me did I discuss
19 specifics of it, I don't recall. I recall the
20 things that at that point that orthopedically were
21 of an urgent nature. Those were to reduce his hip
22 as soon as possible to avoid an open operation.

23 Q. Okay. And then you got a consent form
24 signed, right? Right?

25 A. I don't recall that specifically. I

1 believe that it was. If it was not --

2 Q. Okay. Why don't you find it for me, if
3 you would.

4 MR. GRODEL: We'll stipulate that
5 there is no consent form signed.

6 A. I specifically talked with Mr. Smith,
7 and he agreed to the procedure.

8 Q. (BY MR. KAMPINSKI) During the -- after
9 the surgery, what condition was Mr. Smith in?

10 MR. O'NEILL: I can't hear your
11 question, sir.

12 Q. (BY MR. KAMPINSKI) I'm sorry. I said
13 what condition was Mr. Smith in after the surgery,
14 Dr. Smith?

15 A. Mr. Smith after the surgery was awake,
16 alert and talking with me on the way from the
17 recovery room, from the operating room to the
18 recovery room, a distance of probably 50 feet.

19 Q. Well, he was awake because he wasn't put
20 to sleep, right?

21 A. Awake, alert and talking. The
22 anesthetic, whether it's spinal or not, can induce
23 a sleepy state, so it is not unusual even after a
24 spinal for some people to be difficult to arouse.

25 Q. And in fact, the operative note is

1 signed by Dr. Gill, was it?

2 A. That is correct.

3 Q. Yeah. Dr. Gill. He was what, the chief
4 resident?

5 A. Chief resident.

6 Q. Said that he -- and you countersigned
7 this; as a matter of fact, you countersigned it
8 after Mr. Smith died, I believe. The patient was
9 then transferred to the recovery room in good
10 condition and tolerated the procedure well without
11 intra-operative complications, right? So he was
12 in good condition, right? Is that your testimony,
13 Dr. Smith?

14 A. He was in good condition as far as we're
15 aware, that's correct, and as a matter of point,
16 it was impossible to countersign that prior to his
17 death because a dictated summary does not come
18 back to the chart for several days.

19 Q. Why is it, Doctor, that in your
20 expiration summary you said that he went to
21 intensive care?

22 A. It was just an error in dictation.

23 Q. And you went into the recovery room,
24 with him, didn't you?

25 A. Yes, I did.

1 Q. Because you went right from the
2 operating room into the recovery room?

3 A. That is correct.

4 Q. And as a matter of fact, the nurses'
5 notes reflect that you were there at 5:25, right?

6 A. The chronology, in terms of -- if you
7 look at the way the entry is written, it would
8 appear that I entered at some time later. In fact,
9 I entered as the patient entered.

10 Q. So in fact, you were aware of what was
11 written there on that first note, right, Doctor?

12 A. Was I aware of what was written?

13 Q. Sure.

14 A. Or what the patient's status was?

15 Q. Both. Are you saying that they are
16 different, that the nurse put down something false;
17 is that what you're saying?

18 A. If you will look at the nurses' note,
19 which is the same day --

20 Q. Do you have it in front of you, by the
21 way?

22 A. Yes, I do.

23 Q. Okay. Go ahead.

24 A. If you read the note, which is dated the
25 same time from the nurse in the operating room,

1 also dated at 5:25, it states that the patient was
2 awake, alert, skin was dry and warm.

3 MR. COYNE: What page are you
4 reading from? What page is this?

5 MR. GRODEL: 154, I believe.

6 THE WITNESS: This is dated at the
7 same time, 5:25.

8 MR. COYNE: I just want to know
9 what page.

10 MR. GRODEL: 154.

11 MR. COYNE: Thank you.

12 A. It's the bottom paragraph or the bottom
13 set of entries. Postoperative level of response,
14 postoperative skin condition, disposition, and the
15 entry, the time that this patient left the room,
16 5:25, the same time that it was listed as entry
17 into the recovery room.

18 Q. (BY MR. KAMPINSKI) And she checked the
19 patient as you were taking him from the operating
20 room to the recovery room, this nurse, this D.
21 Palmer, is that right?

22 A. That entry is made as the patient is
23 preparing to leave. I don't know whether that
24 assessment is made in transit or not, but I can
25 attest that the patient's condition was as is

1 described there.

2 Q. So that in the minute that it took to
3 get from the operating room to the recovery room,
4 his condition drastically changed, is that right?

5 A. His condition never changed while I was
6 there.

7 Q. Why don't you read the note to the panel,
8 if you would, of 5:25 in the recovery room?

9 A. 5:25, awake --

10 Q. By the way, before we get to that, up at
11 the top it's got skin temperature?

12 A. Right.

13 Q. Admission 5:25?

14 A. Right.

15 Q. Diaphoretic?

16 A. That is correct.

17 Q. Dusky nail beds?

18 A. Yes.

19 Q. Go ahead.

20 A. The remarks, as best as I can, it says
21 awake, looks like responsive and --

22 Q. How about respirations?

23 A. Okay. Awake -- I cannot read that.

24 Q. Okay. Go to the second sentence then if
25 you would.

1 A. Dyspneic.

2 Q. What's that?

3 A. It implied a difficulty breathing.

4 Q. Go ahead.

5 A. Complained of shortness of breath.

6 Dr. S. J. Lee aware. Breath sounds clear
7 throughout. Dr. Smith visited.

8 Q. Okay. So chronologically, it's got you
9 visiting after the entry of these other notations
10 by the nurse, right?

11 A. As a matter of record and as a matter of
12 general principle, these notes are virtually
13 impossibly written at the time they're entered.
14 They are given an approximate time and a summary
15 of what has happened in a given time frame is
16 written.

17 Whether I accompanied Mr. Smith from the
18 operating room to the recovery room, the fact that
19 this note was written here only says that this
20 nurse's recollection of the events and the
21 chronology as they happened. I have given you my
22 remembrance of the events as they happened.

23 Q. As at least in terms of her recollection,
24 she's got him complaining of shortness of breath,
25 being dyspneic, and were you aware of the

1 uncontrolled ventricular rate, frequent multifocal
2 PVC's and his atrial fibs; were you aware of those,
3 Doctor?

4 A. No, I was not.

5 Q. Had you stayed for a few minutes, you
6 might have been, because the next entry is 5:40,
7 right, so at least even -- as far as your
8 testimony goes from 5:25 to 5:40, these notes
9 would have been written somewhere in that period
10 of time, is that right?

11 A. Or at the end of a shift, or whenever
12 the nurse who is in charge has time to write the
13 notes.

14 Q. Knowing, Doctor, that Mr. Smith had
15 exhibited some coronary insufficiency and that he
16 had been taken to the intensive care unit after
17 the first surgery, and that his hemoglobin had
18 been dropping and that he had coffee ground emesis,
19 didn't you think it advisable to at least stay
20 there for a while to insure that he was stable
21 after you left?

22 A. Mr. Smith was stable throughout his
23 surgery, stable when I accompanied him to the
24 recovery room. If there had been any change, I
25 would assume that either I or my service would

1 have been apprised.

2 Q. My question is didn't you think it
3 advisable to stay, Doctor?

4 A. When the patient was stable, no, I did
5 not.

6 Q. So that these notes don't reflect what
7 you observed, right?

8 A. They do not.

9 Q. Had you observed these conditions, I
10 take it you would have stayed, is that correct?

11 A. I wouldn't have left.

12 MR. O'NEILL: I didn't get that.

13 THE WITNESS: I would not have
14 left.

15 Q. (BY MR. KAMPINSKI) Where did you go?

16 A. I don't recall.

17 Q. I take it you called back, what, a half
18 hour later, hour later, to see how he was doing?

19 A. I called my associate and apprised him
20 that Mr. Smith was in the recovery room doing fine,
21 and that -- as a matter of record also, I was
22 actually not on duty that particular day. I came
23 back in because this was my patient, did the
24 closed reduction, after the closed reduction was
25 performed successfully, without problem, I signed

1 out to Dr. Stephens who was on call that day
2 anyway.

3 Q. Is the answer to my question that you
4 didn't call back, Doctor?

5 A. No, I did not call back.

6 Q. This was what, a Saturday?

7 A. As you have already said, yes.

8 MR. KAMPINSKI: That's all I have.

9 * * * * *

10

11

12

13

14

15

16

17

18

19

20

21

22

23


24

25

CERTIFICATE

I, Nancy A. Nunes, a Registered Professional Reporter, do hereby certify that I attended the foregoing proceedings in their entirety; that I wrote the same in stenotypy which was subsequently transcribed into typewriting by means of computer-aided transcription under my direction; and that the foregoing Excerpt of Transcript of Proceedings is a true and correct transcript of my stenotypy notes.

Signed this 10th day of November, 1987.



Nancy A. Nunes, RPR
Mizanin Reporting Service
540 Terminal Tower
Cleveland, Ohio 44113

LAWYER'S NOTES

[illegible]