

FILED  
LORAIN COUNTY

2001 SEP 28 A 11:34

CLERK OF COMMON PLEAS  
RON NABAKOWSKI

STATE OF OHIO )  
 ) SS:  
COUNTY OF LORAIN )

IN THE COURT OF COMMON PLEAS

-----  
JAMES J. ARMSTRONG, Executor of )  
the Estate of NANCY ARMSTRONG, )

Plaintiff, )

VS. )

NO. CV126180

EMH REGIONAL HEALTHCARE SYSTEM )  
d/b/a AMHERST HOSPITAL, ET AL., )

Defendants. )  
-----

Deposition of:

KENNETH GEORGE SMITHSON, D.O., Ph.D.

Taken on behalf of

Defendant Briccio Celerio, M.D.

September 5, 2001

**COPY**

A P P E A R A N C E S

FOR THE PLAINTIFF:

MS. DONNA TAYLOR-KOLIS  
Donna Taylor-Kolis Co., LPA  
330 Standard Building  
Cleveland, Ohio 44113

FOR THE DEFENDANT PAUL BARTULICA, M.D.

REMINER & REMINGER  
BY: Mr. Joseph Farcione (via telephone)  
113 Saint Clair Avenue N.E.  
Cleveland, Ohio 44114

FOR THE DEFENDANT BRICCIO CELERIO, M.D.

WESTON, HURD, FALLON, PAISLEY & HOWLEY  
BY: Mr. Ronald A. Rispo  
2500 Terminal Tower  
Cleveland, Ohio 44113

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

I N D E X

Depositions by Mr. R.

Deposition... 116

E X H I B I T S

Deposition Exhibit No. 1..... 7

Deposition Exhibit No. 2..... 28

Deposition Exhibit No. .... 28

Deposition Exhibit No. 4..... 79

1  
2  
3  
  
5  
6  
7  
8  
  
10  
11  
12  
13  
14  
15  
16  
17  
18

The deposition of KENNETH GEORGE  
SMITHSON, D.O., Ph.D. was taken by counsel for  
Defendant Briccio Celerio, M.D., by agreement, at the

The formalities as to caption, et cetera,  
are waived. All objections, except as to the form of  
the questions, are reserved to the hearing.

It is agreed that B. J. Davis, being a  
court reporter and notary public for the state of  
Tennessee, may swear the witness and that the reading  
and signing of the completed deposition by the  
witness are not waived.

\* \* \*

23  
24  
25

I KENNETH GEORGE SMITHSON, D.O., Ph.D,  
2 the witness hereinbefore named, being first duly  
3 cautioned and sworn to testify the truth, the whole  
4 truth and nothing but the truth, testified under oath  
5 as follows:

6 EXAMINATION

7 BY MR. RISPO:

22:02:56 8 Q. Good morning, Doctor.

22:02:58 9 A. Good morning.

10 Q. For the record, my name is Ron Rispo, I  
22:03:00 11 am here on behalf of Dr. Celerio, and this is in  
22:03:04 12 regard to a case entitled Armstrong versus the Leary  
22:03:10 13 Memorial Hospital and several other parties whose  
22:03:14 14 names we don't need to go through at the moment.  
22:03:18 15 Also in attendance are Mr. Farcione and Donna Kolis  
22:03:24 16 who are counsel in this case respectively for  
22:03:26 17 Dr. Bartulica and the plaintiff, Mrs. Armstrong.

22:03:32 18 You've been sworn. I am going to ask you  
22:03:34 19 a number of questions. Before I do though I'll  
22:03:38 20 inquire, have you been through a deposition before?

22:03:40 21 A. I have not.

22:03:40 22 Okay. Well, congratulations This is  
22:03:42 23 your first. The procedure is informal, but the  
substance is important. It's official. It's taken  
down word for word and could be used at trial at the

22:03:58 1 appropriate time if you weren't available. So, for

22:04:02 2

22:04:04 3 that purpose, I want *you* to understand that what you  
have to say is important today, but, by the same

22:04:10 4

token, you cla

22:04:12 5

22:04:14 6

22:04:18 7

22:04:22 8

22:04:24 9

22:04:26 10

22:04:30 11

22:04:32 12

22:04:36 13

22:04:40 14

Okay. Doctor. w d you tell us, first  
of all your current business address

22:04:46 15

22:04:46 16

A. Department of Anesthesiology, Vanderbilt  
University. I am Assistant Professor of

22:04:52 17

22:04:52 18

Anesthesiology and N eurosurgery, Director of  
Perioperative Medicine, the Director of the

22:04:56 19

22:05:00 20

Neurointensive Care Unit, and the Director of our

22:05:06 21

Critical Care Fellowship, and I think that's all

22:05:08 22

covered in my curriculum vitae.

22:05:10 23

Q. I do have a copy of your curriculum vitae  
which we will mark as Exhibit 1 to the deposition,

22:05:12 24

22:05:16 25

and I won't go th rough it entirely,  
but I do want to

22:05:18 1 ask a couple of pertinent questions about that.

22:05:22 2

22:05:22 Q. (Deposition Exhibit 1 marked.) (BY MR. RISPO) AS I understand from your

22:05:24 CV, you did not start out in medicine; is that

22:05:26 5 correct?

22:05:28 6

22:05:30 7 Q. Did not start out in medicine?

22:05:32 8 Well, in the sense that you did not

22:05:34 9 pursue your medical degree right after college.

22:05:36 And, if you would, explain to us

22:05:38 11 what it is you did do between 1982 and 1990.

Between 1982 and 1990 I

became my mentor in my Ph.D., and during that time I

published a number of papers. It was really a very

invigorating time where I got to do research and was

invigorating time where I got to do research and was

What was the subject of your research?

It was broadly hypothalamic neurobiology.

I actually went on to get my Ph.D. in physiology and

Q. You were not studying anesthesia during

19 == the period of 1982 to 1990?

A. No.

how and when did you

22:06:36 2 A. I have a degree in osteopathy. I entered  
22:06:42 3 medical school in -- here, let me -- I entered  
22:06:44 4 medical school in -- it would have been '84, and  
22:06:50 5 graduated in 1990 with a Ph.D., and in 1991 with my  
Doctor of Osteopathy.

22:07:00 7 Q. Okay. I'm a little confused. Were you  
22:07:02 8 in medical school at the same time that you were a  
22:07:04 9 lab technician?

06:10 I finished my technician b and  
22:07:10 11 ent into my graduate and medical training

22:07:16 12 When was it that you were in the lab

22:07:18 13 I thought it was 1982 to '90

22:07:22 14 A. No. Actually, it was 1980 through 1984,  
22:07:26 15 and I don't have those details in my CV, it's been so  
22:07:30 16 long ago. It's not really -- at least for the  
22:07:32 17 pertinent -- for the purpose of the CV, it's not that  
22:07:36 18 pertinent.

22:07:38 19 Q. Okay. Your CV indicates you received a  
22:07:42 20 Ph.D. in 1990 --

22:07:42 21 That's correct

22:07:44 22 Q. -- and a doctor -- a degree in osteopathy  
22:07:50 23 in '91. Were you pursuing both degrees  
22:07:54 24 simultaneously?

22:07:54 25 A. Concurrently, that's correct. Michigan



22:07:58 1 State had a dual D.O./Ph.D. program that helped  
 22:08:02 2 support us through that process, that mentored us  
 22:08:06 3 through that process.

22:08:08 4 Q. And the Ph.D. program was in what area?  
 22:08:10 5 and neuroscience.

22:08:22 6 A.

22:08:24 7 Q. And how long were you in the osteopathic  
 22:08:26 8 school?  
 22:08:30 9 A. Well, formally, it would have been six  
 22:08:34 10 years, or seven years, '84 through whatever '91 is,  
 22:08:36 it takes considerably longer than a traditional  
 22:08:40 straight medical degree.

22:08:42 Q. Was that a full-time program?

22:08:46 A. Absolutely full-time, like overtime.  
 22:08:50 It's not much different than any traditional  
 22:08:54 M.D./Ph.D. program. It's very similar.

22:08:58 Q. But it is not an M.D. program?

22:09:02 A. It's not an M.D. program. Michigan  
 22:09:06 State — Michigan State is a unique campus in that we  
 22:09:10 have both an allopath/gnostic ethics school right  
 22:09:14 there and we take the same -- receive the  
 22:09:18 science training from the same professors.

22:09:22 Q. Did you apply to the M.D. program?

22:09:26 A. I did not.

22:09:30 Q. Why would you have not applied to the

22:09:26 1 M.D. program?

22:09:28 2 A. I was courted by the osteopathic program

22:09:32 3 to pursue a dual degree because of my research

22:09:36 4 experience.

22:09:36 Q. Could you not have obtained a dual degree

22:09:38 in the medical school?

22:09:40 A. The -- at Michigan State University, no.

22:09:42 At the time when I entered the school, they had no

22:09:48 M.D./Ph.D. students at that point, and the program

22:09:54 1 for allopath at Michigan State at that time was

22:09:58 1 geared primarily towards family practitioners.

22:10:00 Q. Describe for us, if you would, please,

the extent of your training in cardiology.

A. I have no formal training in cardiology

22:10:16 15 other than what one would receive through my crew

22:10:22 16 training during internship, during my residency, and

22:10:26 17

22:10:30 18 during my critical care fellowship. So while there's

no -- you spend time with cardiologists and you look

22:10:36 19 at patients that have cardiovascular diseases, but I

22:10:40 20 have no formal credentials in cardiology.

22:10:44 21 Q. And no classroom training?

22:10:46 22 A. Oh, of course, during medical school you

22:10:48 23 get classroom training in cardiology, and there's a

22:10:52 24 minimal expectation you can read ECG's, et cetera.

22:10:58 25 Q. If you would, describe for me the extent

22:11:00 1 of your formal classroom training in cardiology, how  
 22:11:04 2 many courses if there were more than one.

22:11:06 3 A. Oh, we had a systems approach. You have  
 22:11:08 4 a basic science class in cardiovascular physiology.  
 22:11:14 5 In addition, during my Ph.D. training, we had a whole  
 22:11:16 6 semester course in cardiovascular physiology, and  
 22:11:20 7 then there are the clinical courses which are another  
 22:11:24 8 semester, semester and a half, related to  
 22:11:26 9 cardiovascular diseases, and that includes anatomic,  
 22:11:32 10 pathological issues related to cardiovascular  
 22:11:36 11 diseases rolled into -- actually, you know, the  
 22:11:40 12 clinical scenario of cardiovascular disease. They're  
 22:11:40 13 presented together.

22:11:42 14 Q. So did I count three courses that you  
 22:11:44 15 mentioned?

22:11:48 16 A. You counted three courses, but it's more  
 22:11:52 17 complex than that because the way, at least in the  
 22:11:54 18 undergraduate, my medical school, and I'm going to  
 22:12:00 19 call that undergraduate training, in that  
 22:12:00 20 undergraduate training -- I would have to look back  
 22:12:04 21 at my transcripts to exactly determine the number of  
 22:12:06 22 courses that are entailed. I can tell you for a  
 22:12:10 23 semester and a half we covered cardiovascular  
 22:12:14 24 diseases. There we would have cardiologists talk to  
 22:12:20 25 us, we'd have internists talk to us, we'd have

22:12:22 1 pathologists talk to us, all about cardiovascular  
22:12:26 2 diseases. So I don't recall how many credits that  
22:12:30 3 was worth or how many formal courses that actually  
22:12:34 4 entailed.

22:12:34 5 Q. Same question with respect to anesthesia.  
22:12:38 6 What formal training did you have in anesthesia?

22:12:40 7 A. My formal training in anesthesia was  
22:12:44 8 during my residency at the Mayo Clinic.

22:12:46 9 Q. Not during medical school itself?

22:12:48 10 A. We got one course, I think, in the  
22:12:52 11 rudiments of anesthesiology. I wouldn't call it much  
22:12:56 12 of anything.

22:13:00 13 Q. How many years did you spend in  
22:13:02 14 anesthesia residency at the Mayo Clinic?

22:13:04 15 A. Three years, from 1993 to 1996.

22:13:14 16 Q. Are you board certified in anesthesia?

22:13:16 17 A. I am board certified in anesthesiology,  
22:13:20 18 and I'm also board certified with a special  
22:13:20 19 certification in critical care medicine.

22:13:22 20 Q. When were you board certified in  
22:13:24 21 anesthesiology?

22:13:24 22 A. Board certified in anesthesiology -- may  
22:13:28 23 I refer to that? 10 of '99.

22:13:32 24 Q. And critical care?

22:13:34 25 A. 11 of '99. Is that right?

22:13:44 1 Q. Well, I'm looking at your CV. I'm  
22:13:44 2 assuming it's correct.

22:13:46 3 A. Yes. I assume that it's correct. I  
22:13:48 4 think that's correct.

22:13:48 5 Q. All right. If I may look over your  
22:13:54 6 shoulder again here.

22:13:54 7 A. Yes.

22:14:00 8 MS. TAYLOR-KOLIS: We forgot to tell  
22:14:00 9 you, if you have to answer a page for a patient,  
22:14:04 10 that's far more important than the deposition, so --

22:14:08 11 Q. (BY MR. RISPC) It looks like, from your  
22:14:10 12 resume, that you left Mayo Clinic in '97 and arrived  
22:14:14 13 at the Department of Anesthesiology here at  
22:14:16 14 Vanderbilt in '97.

22:14:18 15 A. That's correct.

22:14:18 16 Q. So you've been here now four years?

22:14:20 17 A. That's correct.

22:14:24 18 Q. At the time of the events in question in  
22:14:26 19 this case in 1999, you had been in practice as an  
22:14:32 20 anesthesiologist for a period of two years?

22:14:36 21 A. Two years, yes.

22:14:38 22 Q. Okay. And at the time of these events  
22:14:40 23 you were not yet board certified in anesthesiology or  
22:14:44 24 critical care medicine?

22:14:46 25 A. That's correct.

22:14:54 1 Q. Your CV describes your position as  
 22:14:56 2 Director of Perioperative Medicine.

22:15:00 3 A. That's correct.

22:15:00 4 Q. Would you tell us what is entailed in the  
 22:15:04 5 directorship, what are your duties and  
 22:15:06 6 responsibilities, and specifically what is meant by  
 22:15:08 7 perioperative medicine?

22:15:10 8 A. Well, perioperative medicine, that title  
 22:15:14 9 hallmarks that the physiology of a patient's medical  
 22:15:22 10 condition changes dramatically in the perioperative  
 22:15:26 11 period which is different than physiology during  
 22:15:28 12 chronic illnesses, and so Dr. Charles Beaty, the  
 22:15:32 13 Chairman of the department at that time, established  
 22:15:34 14 a perioperative medicine service where patients would  
 22:15:40 15 be systematically evaluated in the preoperative  
 22:15:44 16 period by anesthesiologists and then followed in the  
 22:15:48 17 postoperative period by anesthesiologists serving as  
 22:15:54 18 hospitalists, if you were, for the medical management  
 22:15:56 19 of those patients on the floors. So this entailed a  
 22:16:02 20 complete continuum of care from a preoperative  
 22:16:04 21 evaluation all the way through the operative course  
 22:16:08 22 and the postoperative course. My responsibility as  
 22:16:10 23 Director was to run that service.

22:16:14 24 Q. Do you, in the course of your duties in  
 22:16:18 25 the perioperative medicine, participate in the actual

22:16:24 1  
22:16:26 2  
22:16:30 3  
22:16:34 4  
22:16:40 5  
22:16:44 6  
22:16:46 7  
22:16:50 8  
22:16:54 9  
22:16:56 10  
22:17:00 11  
22:17:06 12  
22:17:10 13  
22:17:14 14  
22:17:18 15  
22:17:20 16  
22:17:24 17  
22:17:28 18  
22:17:32 19  
22:17:32 20  
22:17:38 21  
22:17:44 22  
22:17:46 23  
22:17:48 24  
22:17:52 25

anesthesia during surgery?

A No, actually, I don't know how the perioperative medicine service works. The intensivist in the -- in one of the units that we run will also do the preoperative medicine service at the same time, and so they will take care of the patients in the immediate postoperative period. The anesthesiologists in the operating suites will take care of the patients, whereas they're scheduled. We all take care of the patients then. Our role as a perioperative physician is to, in the operating room, pre-op, identify patients' risks as well as we can modify those risks, if they're modifiable, and then to identify patients' risks for the procedure. Our purpose And so, practically speaking, you're not going to be the same guy that does the preoperative evaluation, the operating anesthetic, and then the postoperative management. It will be a group of us that actually do that.

Q This division of labor or responsibility that you describe here unique to Vanderbilt Medical Center?

A There are a couple other universities that do this. There are several private practice groups, as I understand it, that actually have done

22:17:56 1 it. One was in Ohio, if I understand. That's  
22:17:58 2 originally where we picked up on the idea. And then  
22:18:02 3 there's a private practice group in Las Vegas that  
22:18:08 4 does this. But, in a whole, I would say that this is  
22:18:12 5 relatively rare. I think that's an accurate  
22:18:14 6 statement.

22:18:14 7 Q. A perioperative specialist would not  
22:18:18 8 commonly be found in a community setting?

22:18:20 9 A. That's absolutely true.

22:18:24 10 Q. To what extent have you, in fact,  
22:18:26 11 experienced in the operative suite doing the  
22:18:30 12 anesthesia, being the primary or the physician in  
22:18:34 13 charge of anesthesia?

22:18:34 14 A. I spend half of my time in the operating  
22:18:38 15 room.

22:18:40 16 Q. Now, is that as of the present or has it  
22:18:46 17 been true since '97?

22:18:46 18 A. That's true since '97.

22:18:48 19 Q. How does that square with your --

22:18:50 20 A. I spend half of my time doing operative  
22:18:54 21 management and the other half of my time doing  
22:18:58 22 management in the ICU. It probably breaks down to --  
22:19:06 23 the other component of what's done is education, and  
22:19:10 24 that is wrapped in with your clinical  
22:19:14 25 responsibilities, so you don't have a separate



22:19:16 1

service that does education.

22:19:20 2

Q. Well, I need to understand what appears

22:19:22 3

to be a contradiction in your earlier statement here.

22:19:24 4

You told me earlier that perioperative medicine does

22:19:28 5

not involve anesthesia in the surgical suite.

22:19:32 6

A. It doesn't formally involve anesthesia.

22:19:36 7

Let me -- let me -- perioperative medicine is an

22:19:40 8

attempt to create a continuum of care from targeting

22:19:46 9

an accurate preoperative evaluation so we get

22:19:50 10

accurate assessment of risks, and then, if we can

22:19:54 11

assess those risks, can we modify those risks. A

22:19:58 12

classic example would be somebody with ischemic

22:20:02 13

cardiac disease where it's clear from the literature

22:20:06 14

now that perioperative beta blockade is helpful in

22:20:10 15

those patients. That information is communicated

22:20:14 16

with my colleagues in the operating room suites, and

22:20:18 17

so it helps them modify their anesthetic techniques

22:20:22 18

so we get the best outcome. They're not operating in

22:20:26 19

a vacuum anymore. And then, postoperatively, we

22:20:28 20

follow them and make sure that all those little --

22:20:30 21

those other medical problems are addressed.

22:20:34 22

Q. What I need to know is how much time you

22:20:38 23

spend per week, per month, --

22:20:40 24

A. Fifty percent of my time.

22:20:42 25

Q. -- in the operative suite as the

22:20:44 1 physician in charge of anesthesia.

22:20:46 2 A. Fifty percent of my time.

22:20:50 3 Q. How does that square with your earlier

22:20:52 4 statement that the perioperative medicine does not

22:20:54 5 involve doing the anesthesia in the surgical suite?

22:21:04 6 A. I'm not the only one -- I'm the Director

22:21:06 7 of the service, but I'm not the only one that's

22:21:08 8 providing that service.

22:21:12 9 Q. So you have essentially dual roles?

22:21:14 10 A. I have dual roles. I have many hats.

22:21:14 11 Q. Okay.

22:21:16 12 A. So the other inrensivists that are on the

22:21:20 13 service, which would have been -- let's see. In ehe

22:21:26 14 beginning it was Dr. Dalton and Dr. Clarkson. Then

22:21:30 15 it became Dr. Varwise, Dr. Clarkson, and myself. And

22:21:38 16 so there -- there are a number of other people that

22:21:40 17 take those responsibilities. So I don't take

22:21:42 18 those -- the responsibilities of running the unit all

22:21:48 19 by myself. And so the time that I'm not spending

22:21:52 20 running the unit and running the perioperative

22:21:54 21 medicine service, I'm in the operating room.

22:21:56 22 Q. Well, you are the Director, are you not?

22:21:58 23 A. That's right

22:21:58 24 Q. Does that involve administrative duties?

22:22:00 25 A. Oh, some minor administrative duties.

22:22:04 1 Q. What percentage of your time in the  
 22:22:06 2 average week do you spend in administrative duties?  
 22:22:10 3 A. Five percent at most.  
 22:22:12 4 Q. What percent of your time is involved in  
 22:22:14 5 research?  
 22:22:16 6 A. Another five percent at most, and all my  
 22:22:20 7 research at present is clinical research, so it's  
 22:22:24 8 done in concert with my clinical responsibilities.  
 22:22:32 9 Q. How much time do you spend in patient  
 22:22:34 10 care?  
 22:22:34 11 A. The remainder of time is spent in patient  
 22:22:36 12 care.  
 22:22:38 13 Q. How much time do you spend teaching?  
 22:22:40 14 A. Teaching is wrapped in with the clinical  
 22:22:44 15 responsibilities, and so you don't have separate --  
 22:22:48 16 when you're on clinical service, let's say you're in  
 22:22:50 17 the operating room, you have a responsibility to  
 22:22:54 18 teach the resident during the performance of an  
 22:23:00 19 anesthetic of that case, but you're taking care of  
 22:23:04 20 the patient at the same time, so there's not --  
 22:23:06 21 there's not separate teaching responsibilities.  
 22:23:10 22 They're all -- they're wrapped in together.  
 22:23:12 23 Q. Besides administration and research, do  
 22:23:16 24 you have any other duties outside of patient care?  
 22:23:20 25 A. No, not off the top of my head.

22:23:24 1 Q. Now, then, getting back to your time in  
 22:23:26 2 the operative suite, when you're in the operative  
 22:23:30 3 suite, are you the sole anesthesiologist in charge of  
 22:23:32 4 the patient?

22:23:34 5 A. Yes. Now, --

22:23:36 6 Q. Do you --

22:23:38 7 A. I'm sorry.

22:23:40 8 Q. Do you have any supervision from any  
 22:23:42 9 other anesthesiologist in the operative suite?

22:23:46 10 A. No.

22:23:52 11 Q. And how long have you been commissioned  
 22:23:54 12 or qualified to be the physician in charge in the  
 22:23:58 13 operative suite?

22:24:00 14 A. Since I've been at Vanderbilt.

22:24:00 15 Q. Since '97?

22:24:02 16 A. That's right.

22:24:06 17 Q. Is there any type of surgery that you're  
 22:24:10 18 specializing in, or limited to, or do you participate  
 22:24:16 19 in anesthesia for any and all surgeries as they come?

22:24:20 20 A. Any and all surgeries as they come, by  
 22:24:24 21 and large. I do specialize somewhat in receiving and  
 22:24:30 22 taking care of patients with orthopaedic and  
 22:24:32 23 neurological injuries. However, the way our OR  
 22:24:38 24 schedules are set up is that you're rotated around to  
 22:24:40 25 all areas with one exception, actually two

22:24:42 1  
22:24:46 2  
22:24:54 3  
22:25:00 4  
22:25:00 5  
22:25:02 6  
22:25:02 7  
22:25:08 8  
22:25:12 9  
22:25:18 10  
22:25:20 11  
22:25:26 12  
22:25:30 13  
22:25:30 14  
22 25 38 15  
22 25 44 16  
22 25 46 17  
22 25 46 18  
22 25 56 19  
22:25:58 20  
22:26:02 21  
22:26:08 22  
22:26:14 23  
22:26:18 24  
22:26:18 25

exceptions we have a separate pediatric group that  
take care of children, and our cardiac group which  
is basically for coronary artery bypass grafts. It  
centers that's a separate group

Q Open heart?

A Open heart

Q Okay What percentage of your time is  
involved in OB surgery?

A. Fifteen percent at the most.

Q What percentage of your patients would  
you say have had cardiac complications even though  
they're not having open heart surgery, they're in  
there for another reason?

A Oh, at an average of 30 to 70 percent of the  
patients will have cardiac comorbidities

Q And these are patients who ultimately do  
have surgery?

A. They will.

Q Before I get into that in greater detail.  
I'd like to finish up on your credentials. I have  
noticed your some 42 publications as of 1999, or  
whenever this CV was printed, and I don't see  
anything specifically on the subject of anesthesia

A No.

Q Okay

22:26:20 1 A. That hasn't been my area of research.

22:26:24 2 Q. How would you characterize your area of

22:26:26 3 research?

22:26:28 4 A. Well, heretofore, my area of research was

22:26:30 5 as a basic scientist looking at neurobiology of the

22:26:40 6 hypothalamus, but when you become a new assistant

22:26:48 7 professor, you have to find your way in terms of what

22:26:54 8 your research is going to be, what's available in

22:26:56 9 terms of the resources of the institution to provide,

22:27:00 10 and so I have systematically moved into doing

22:27:04 11 clinical research.

22:27:06 12 Q. Okay. You've not done any publications

22:27:08 13 in the field of cardiology either?

22:27:10 14 A. I have not.

22:27:12 15 Q. So that you're not recognized as a

22:27:16 16 national authority in the field of anesthesia or

22:27:18 17 cardiology?

22:27:20 18 A. No, I'm not.

22:27:52 19 Q. How often have you consulted in

22:27:54 20 medicolegal matters such as this?

22:27:58 21 A. How often?

22:27:58 22 Q. Yes.

22:28:00 23 A. This is my first time.

22:28:04 24 Q. So this is the first time you've even

22:28:06 25 written a report?

22:26:20 1

A. That hasn't been my area of research.

22:26:24 2

Q. How would you characterize your area of research?

22:26:26 3

22:26:28 4

A. Well, heretofore, my area of research was as a basic scientist looking at neurobiology of the hypothalamus, but when you become a new assistant professor, you have to find your way in terms of what your research is going to be, what's available in terms of the resources of the institution to provide, and so I have systematically moved into doing clinical research.

22:26:30 5

22:26:40 6

22:26:48 7

22:26:54 8

22:26:56 9

22:27:00 10

22:27:04 11

22:27:06 12

Q. Okay. You've not done any publications in the field of cardiology either?

22:27:08 13

22:27:10 14

A. I have not.

22:27:12 15

Q. So that you're not recognized as a national authority in the field of anesthesia or cardiology?

22:27:16 16

22:27:18 17

22:27:20 18

A. No, I'm not.

22:27:52 19

Q. How often have you consulted in medicolegal matters such as this?

22:27:54 20

22:27:58 21

A. How often?

22:27:58 22

Q. Yes.

22:28:00 23

A. This is my first time.

22:28:04 24

Q. So this is the first time you've even written a report?

22:28:06 25

22 Z 06 1

A No, I've written one other report

22 Z 08 2

Q What was the subject of the other report?

22 Z 12 3

A It was on -- I don't remember the details

22 Z 16 4

because there was nothing really to do with it was

22 Z 22 5

an intraoperative incident with a lost airway, if I

22 Z 28 6

remember correctly I'd have to go back to my

22 Z 30 7

records.

22 Z 32 8

Q Did that case go to trial?

22 Z 34 9

A No, it has not.

22 Z 40 10

Q And you did not give a deposition?

22 Z 46 11

A I did not give a deposition in it

22 Z 48 12

Q And this is the first time you have?

22 Z 40 13

A Yes.

22 Z 40 14

Q Now is it that you came to do called upon

22 Z 44 15

in this case to do an opinion?

22 Z 50 16

A Donna Kolis had contacted Kevin Clarkson,

22 Z 54 17

who was my immediate supervisor at the time, and

22 Z 00 18

Kevin Clarkson referred the case to me

22 Z 08 19

Q What is the reason, to your knowledge,

22 Z 10 20

why Mr. Clarkson did not handle the case himself?

22 Z 16 21

A He didn't describe that I didn't ask

22 Z 22 22

him. He said, 'Ken, do you want to take a look at

22 Z 24 23

this case?" I said, "Fine."

22 Z 26 24

Q Did he write an opinion?

22 Z 28 25

A He did not.



22:29:28 1 Q. Did he express any opinion?

22:29:30 2 A. He did not.

22:29:32 3 Q. Did he tell you that this was something

22:29:34 4 that he didn't want to get involved in for some

22:29:36 5 reason?

22:29:36 6 A. He did not. I don't think he actually

22:29:38 7 even looked at the records. I don't think the

22:29:40 8 records were available. Dr. Clarkson has since moved

22:29:42 9 to Ireland, where he's originally from, and he's now

22:29:46 10 the Director of a critical care unit there.

22:29:52 11 Q. Okay. And do you know if Dr. Clarkson is

22:29:58 12 a physician who advertises in any way to offer his

22:30:02 13 services as a medical expert?

22:30:04 14 A. I don't know if he does. I don't think

22:30:06 15 he does. In fact, I'm sure that he doesn't.

22:30:12 16 Q. Do you advertise?

22:30:12 17 A. I do not.

22:30:16 18 Q. The other case that you're handling, is

22:30:18 19 that for the plaintiff or the defendant?

22:30:22 20 A. That was for the plaintiff, I believe.

22:30:26 21 Q. Have you ever been engaged on behalf of a

22:30:28 22 defendant?

22:30:28 23 A. I have not.

22:30:32 24 Q. I should ask you also, what is your

22:30:36 25 hourly rate for deposition.

22:29:28 1 Q. Did he express any opinion?

22:29:30 2 A. He did not.

22:29:32 3 Q. Did he tell you that this was something

22:29:34 4 that he didn't want to get involved in for some

22:29:36 5 reason?

22:29:36 6 A. He did not. I don't think he actually

22:29:38 7 even looked at the records. I don't think the

22:29:40 8 records were available. Dr. Clarkson has since moved

22:29:42 9 to Ireland, where he's originally from, and he's now

22:29:46 10 the Director of a critical care unit there.

22:29:52 11 Q. Okay. And do you know if Dr. Clarkson is

22:29:58 12 a physician who advertises in any way to offer his

22:30:02 13 services as a medical expert?

22:30:04 14 A. I don't know if he does. I don't think

22:30:06 15 he does. In fact, I'm sure that he doesn't.

22:30:12 16 Q. Do you advertise?

22:30:12 17 A. I do not.

22:30:16 18 Q. The other case that you're handling, is

22:30:18 19 that for the plaintiff or the defendant?

22:30:22 20 A. That was for the plaintiff, I believe.

22:30:26 21 Q. Have you ever been engaged on behalf of a

22:30:28 22 defendant?

22:30:28 23 A. I have not.

22:30:32 24 Q. I should ask you also, what is your

22:30:36 25 hourly rate for deposition.

22:30:38 1 A. 250.

22:30:48 2 Q. In the course of your review of this

22:30:48 3 case, what information and data was provided to you?

22:30:54 4 A. Donna Kolis provided me with the

22:30:58 5 patient's immediate records from Amherst Hospital,

22:31:02 6 her medical chart from her previous hospitalizations,

22:31:08 7 as well as correspondence with a number of expert

22:31:12 8 witnesses, et cetera, sought by you and, I guess,

22:31:16 9 other parties, as well as two depositions, one of

22:31:22 10 Dr. Bartulica and one of Dr. Celerio, and one chest

22:31:34 11 x-ray, and I think that's it.

22:31:38 12 Q. I have a copy of your report of May 31st,

22:31:44 13 2001. Have you prepared any other reports?

22:31:48 14 A. May 31st. Let me see. Yes, I have. I

22:32:18 15 prepared a preliminary report.

22:32:22 16 Q. May I see it, please?

22:32:24 17 A. Sure. See if I can find it.

22:32:28 18 MS. TAYLOR-KOLIS: Let me look

22:32:28 19 through there. Maybe you just skipped it.

22:32:30 20 A. Here it is.

22:32:36 21 MS. TAYLOR-KOLIS: Hi, Joe. You

22:32:36 22 still with us?

22:32:38 23 MR. FARCIONE: I'm here. I've got

22:32:38 24 the mute on.

22:32:40 25 MS. TAYLOR-KOLIS: Okay.

22:32:42 1 A. All right.

22:32:44 2 MR. RISPO: No fair doing any other

22:32:46 3 work.

22:32:46 4 A. This is the May 31st. This is the

22:32:48 5 preliminary report which is my copy. Donna would

22:32:54 6 have the original copy.

22:33:24 7 Q. (BY MR. RISPO) By the way, are you ACLS

22:33:28 8 certified?

22:33:28 9 A. I am.

22:33:28 10 Q. When did you receive your certification?

22:33:30 11 A. We renew our certification every two

22:33:32 12 years. The last one was last year.

22:33:34 13 Q. And when did you first receive it?

22:33:36 14 A. Oh, I first received it -- we -- we start

22:33:40 15 becoming ACLS certified nowadays in internship, so I

22:33:42 16 took ACLS in internship.

22:33:46 17 Q. When was that?

22:33:46 18 A. That would have been in '91 to '92,

22:33:50 19 and -- and so we -- every two years you have to

22:33:56 20 recertify.

22:34:10 21 Q. For the record, let me indicate that

22:34:12 22 you've handed me two undated letters. I presume that

22:34:18 23 is because of the computer?

22:34:22 24 A. That's right, and Ms. Kolis will have the

22:34:24 25 dated copies.

22:34:26 1 MR. RISPO: And I wonder if we can  
 22:34:28 2 establish the date.

22:34:34 3 MS. TAYLOR-KOLIS: Let me get my  
 22:34:34 4 briefcase. Let me see if I have those with me. It  
 22:34:44 5 predated the lawsuit, that much I know for sure,  
 22:34:46 6 so -- let's see. I believe, okay, because I've got  
 22:34:56 7 my computer copy, I don't have my hard copy, 5-18,  
 22:35:02 8 2000, even though I'm not testifying, but I think  
 22:35:04 9 that's accurate.

22:35:06 10 Q. (BY MR. RISPO) Would that square with  
 22:35:08 11 your recollection?

22:35:08 12 A. Yes, that's about right, --

22:35:08 13 Q. Okay.

22:35:10 14 A. -- and I can check on my -- if it  
 22:35:14 15 becomes -- Donna will have --

22:35:16 16 THE WITNESS: Do you have a fax  
 22:35:18 17 copy?

22:35:18 18 MS. TAYLOR-KOLIS: Yes.

22:35:24 19 THE WITNESS: Oh, yes. Well, that's  
 22:35:24 20 the date.

22:35:24 21 MS. TAYLOR-KOLIS: Okay.

22:35:26 22 THE WITNESS: Okay.

22:35:26 23 Q. (BY MR. RISPO) The fax copy shows --

22:35:30 24 MS. TAYLOR-KOLIS: 5-18.

22:35:30 25 A. Yes.

22:35:32 1 Q. (BY MR. RISPO) Okay.

22:35:32 2 A. And that was faxed from my house.

22:35:52 3 Q. (BY MR. RISPO) While I'm reviewing these

22:35:54 4 two letters, I'll ask the reporter to mark them as

22:35:56 5 Exhibits 2 and 3.

22:36:06 6 (Deposition Exhibits 2 and 3

22:36:08 7 marked.)

22:38:20 8 Q. (BY MR. RISPO) At the time -- at the

22:38:34 9 time when you wrote your first report, Doctor, in May

22:38:40 10 of 2000, you did not have the benefit of the

22:38:42 11 deposition testimony of either Dr. Bartulica or

22:38:46 12 Dr. Celerio; is that correct?

22:38:50 13 A. That is correct.

22:38:50 14 Q. You did not have either the benefit of

22:38:52 15 any of the reports of the defendants' experts,

22:38:56 16 including the pathologist, Dr. Mendelsohn, --

22:39:00 17 A. That's correct.

22:39:02 18 Q. -- and you did not have the reports of

22:39:04 19 Drs. Kravitz and Watts. Have you even seen

22:39:10 20 Drs. Kravitz' or Watts' reports?

22:39:14 21 A. Dr. Watts --

22:39:14 22 MS. TAYLOR-KOLIS: Who is Dr. Watts?

22:39:16 23 A. Yes. I don't know Dr. Watts.

22:39:18 24 Q. (BY MR. RISPO) I received a report from

22:39:20 25 Mr. Farcione about a week or 10 days ago.

22:39:26 1 MS. TAYLOR-KOLIS: Joe? Hello.

22:39:26 2 Joe?

22:39:26 3 MR. FARCIONE: It was sent to you,

22:39:28 4 Donna, with a carbon copy to Ron Rispo.

22:39:30 5 MS. TAYLOR-KOLIS: And when did you

22:39:32 6 mail that report?

22:39:34 7 MR. FARCIONE: Maybe two weeks ago.

22:39:38 8 MS. TAYLOR-KOLIS: I'm going to

22:39:38 9 represent to you that I have not seen a report from

22:39:42 10 Dr. Watts, and I know who Dr. Watts is.

22:39:44 11 MR. FARCIONE: The only thing he's

22:39:46 12 talking about is -- well, he's talked a little about

22:39:50 13 the standard of care, but it's mainly proximate cause

22:39:54 14 which, I think, is not going to be an issue in the

22:39:56 15 case based on our conversation.

22:39:58 16 MR. RISPO: I've just handed a copy

22:40:00 17 of Watts' report to Donna.

22:40:02 18 MS. TAYLOR-KOLIS: It's dated August

22:40:06 19 26th.

22:40:08 20 MR. FARCIONE: It should have gone

22:40:08 21 out to you that day.

22:40:10 22 MS. TAYLOR-KOLIS: The cover letter

22:40:10 23 to Ron is August 28th

22:40:18 24 Q. (BY MR. RISPO) In any event, --

22:40:20 25 MS. TAYLOR-KOLIS: In any event,

22:40:22 1 we've never seen this report.

22:40:24 2 Q. (BY MR. RISPO) How about Dr. Kravitz,

22:40:24 3 Doctor? Have you seen his --

22:40:26 4 A. Yes, I've seen his.

22:40:30 5 Q. Okay.

22:40:30 6 MR. FARCIONE: You have seen

22:40:30 7 Dr. Kravitz' report, Donna?

22:40:32 8 MS. TAYLOR-KOLIS: Yes, absolutely.

22:40:32 9 MR. RISPO: Okay.

22:40:34 10 Q. (BY MR. RISPO) At the time when you

22:40:36 11 wrote your original report, you were not aware of the

22:40:44 12 cause of death; is that correct?

22:40:48 13 A. I was not aware of her underlying

22:40:52 14 pathophysiological process.

22:40:52 15 Q. And, to your understanding, what is her

22:40:58 16 underlying pathophysiological process?

22:40:58 17 A. She had amyloidosis, primary amyloidosis.

22:41:02 18 Q. And when you wrote your latest report May

22:41:06 19 31st of 2001, you were still not aware of her

22:41:10 20 underlying pathophysiological condition?

22:41:14 21 A. That's correct.

22:41:20 22 Q. Does the fact that she had amyloidosis

22:41:24 23 affect your conclusions in this case in any respect?

22:41:28 24 A. No. Well, it provides me with an

22:41:34 25 explanation, but as to my ascertainment -- my decision



22:41:40 1 on whether the standard of care was breached in  
22:41:44 2 performance of the anesthesia that day, no, that  
22:41:48 3 doesn't change.

22:41:48 4 Q. Well, let me break down my question into  
22:41:52 5 two parts. I gather from your statement that you  
22:41:54 6 still have the opinion that Dr. Celerio failed to  
22:41:58 7 meet the standard of care. My question, however, for  
22:42:02 8 this purpose, is whether the information about her  
22:42:08 9 amyloidosis changes your opinion as to the cause of  
22:42:14 10 death.

22:42:18 11 A. No. It doesn't, actually. The proximal,  
22:42:22 12 well -- it does not, not on a cause and effect basis.

22:42:32 13 Q. What information do you have -- what  
22:42:34 14 basis do you have to say that the care of Dr. Celerio  
22:42:42 15 contributed in a material respect to the cause of  
22:42:46 16 death?

22:42:46 17 A. Could you repeat that?

22:42:48 18 Q. What information -- or what is the basis  
22:42:50 19 for your opinion that the care provided by  
22:42:52 20 Dr. Celerio caused or contributed to the cause of  
22:42:58 21 Mrs. Armstrong's death?

22:43:00 22 A. Well, it's -- there's a twofold answer to  
22:43:02 23 that. One is the general concept of what standard of  
22:43:12 24 care is, and that is honed by -- formed by what's in  
22:43:18 25 the medical literature, what is deemed the broad

22:43:20 1 responsibilities of an anesthesiologist as guided by  
22:43:24 2 the dictums of both the ASA and the ABA, and my  
22:43:34 3 experience -- my personal experience in the peer  
22:43:36 4 review process that we undertake at Vanderbilt.

22:43:38 5 Q. Well, let me break the question down  
22:43:40 6 because I have a feeling we're not communicating  
22:43:44 7 effectively. My question is is it your opinion that  
22:43:46 8 the care provided by Dr. Celerio caused or  
22:43:50 9 contributed to cause Mrs. Armstrong's death?

22:43:54 10 A. Absolutely.

22:43:56 11 Q. Okay. Now, my next question is do you  
22:44:00 12 have any way or any evidence to suggest that  
22:44:04 13 Mrs. Armstrong, given her underlying condition of  
22:44:10 14 amyloidosis, could have survived this surgery under  
22:44:16 15 any circumstances'?

22:44:20 16 A. Absolutely. Well, I can't say  
22:44:24 17 absolutely. I can say she's at significant risk  
22:44:28 18 undergoing a surgical procedure.

22:44:34 19 Q. In your report of May 31st, you said you  
22:44:38 20 could not predict with certainty but you think the  
22:44:42 21 patient could have survived the hypertensive episode  
22:44:46 22 if appropriate therapy had been instituted in a  
22:44:48 23 timely fashion. You had some hesitation or doubt at  
22:44:54 24 that time as you wrote that report even before you  
22:44:56 25 knew of her condition of amyloidosis.

22:45:02 1 MS. TAYLOR-KOLIS: I'm going to  
 22:45:02 2 object. You don't know if he had reluctance or  
 22:45:04 3 hesitancy. You're interpreting something from his  
 22:45:08 4 language where he said he couldn't predict with  
 22:45:12 5 certainty.

22:45:12 6 MR. RISPO: Well, that's what the  
 22:45:12 7 language says, and I'm going to presume the use of  
 22:45:16 8 English language by a learned professional.

22:45:20 9 Q. (BY MR. RISPO) Doctor, could you explain  
 22:45:20 10 what you meant at the time when you wrote the letter  
 22:45:22 11 of May 31st, 2001 and stated that you could not  
 22:45:26 12 predict with certainty but you thought the patient  
 22:45:30 13 could have survived?

22:45:30 14 A. If I remember that -- can I look at that?

22:45:34 15 Q. Sure.

22:45:34 16 A. If I remember that paragraph, it's in  
 22:45:36 17 reference to the code. Let's see. Where are we?  
 22:46:10 18 Okay. In that paragraph I'm referring to the  
 22:46:20 19 treatment of the ensuing hypotensive episode, which  
 22:46:28 20 is essentially when the patient was coded, and that  
 22:46:30 21 relates to my criticism of how the code was handled,  
 22:46:36 22 and doesn't relate -- I think -- you want to put it  
 22:46:38 23 in a broader context.

22:46:40 24 Q. Well., I guess what I'm asking you is if  
 22:46:42 25 you weren't certain at that time whether she could

22:46:44 1 have survived, whether the new information now  
22:46:50 2 available that her underlying condition of  
22:46:52 3 amyloidosis was at least a contributing cause of  
22:46:58 4 death, how is it that you can be more certain today  
22:47:02 5 than you were at the time that you wrote this report  
22:47:04 6 and before you were aware of that information?

22:47:08 7 A. I can't be certain, and it's --

22:47:20 8

22:47:20 9

22:47:20 10 Q. Okay.

22:47:22 11 A. I'm reflecting. Okay. This is -- this  
22:47:36 12 is what I call a cascade failure which means you put  
22:47:38 13 into motion a set of events that use up a patient's  
22:47:46 14 physiological reserve, and that was the hypotensive  
22:47:52 15 episode that was initiated by induction. When not  
22:47:56 16 promptly fixed or reversed, then because our  
22:48:06 17 patient -- the patient had reduced physiological  
22:48:10 18 reserve, her chance of survival is lessened. Given  
22:48:18 19 prompt reversal of that problem, I think that that's  
22:48:24 20 survivable.

22:48:26 21 Q. Well, --

22:48:26 22 A. Does that answer your question?

22:48:28 23 Q. I think I'm following you, but let me  
22:48:30 24 make sure. Let's start with amyloidosis. What is  
22:48:34 25 your understanding of that condition? Could you

22:48:36 1

define it for us?

22:48:38 2

A. It's a multisystem replacement of

22:48:40 3

muscular tissue with amyloid deposits that render

22:48:46 4

various organs less functional. In this case this

22:48:50 5

patient -- her anterior wall was replaced with dense

22:48:56 6

fibrous amyloid deposits, and so it's an

22:49:00 7

unremitting -- particularly, in this case, I presume

22:49:04 8

that she had primary amyloidosis, as opposed to other

22:49:12 9

variants of it, that foreshortens her life.

22:49:18 10

Q. Would it be fair or accurate to state

22:49:22 11

that the deposits you're talking about are calcium

22:49:26 12

deposits?

22:49:28 13

A. I don't know that. That's not my

22:49:32 14

understanding.

22:49:34 15

Q. Would these deposits that you refer to

22:49:36 16

interrupt the electrical conduction of the muscle

22:49:40 17

fiber?

22:49:40 18

A. That's well known.

22:49:40 19

Q. Okay.

22:49:42 20

A. That's well known.

22:49:44 21

Q. And reduces contractibility?

22:49:48 22

A. Not necessarily. In fact, systolic -- if

22:49:52 23

we're talking about the heart -- now we're talking

22:49:56 24

exclusively about the heart, correct?

22:49:58 25

Q. Yes.

22:50:00 1 A. In fact, early on systolic function can  
22:50:02 2 be preserved as opposed to diastolic function.

22:50:04 3 Q. How about diastolic function?

22:50:06 4 A. That's reduced, and therein lies the  
22:50:10 5 problem. You have a stiff -- think of, it as a --  
22:50:14 6 you have to fill the pump up, and you have a nice  
22:50:18 7 easy balloon or a very stiff balloon, but in order  
22:50:22 8 for the system to pump effectively, you have to get  
22:50:26 9 the right amount of volume in there, and so they  
22:50:30 10 have -- they have a very stiff balloon. They have a  
22:50:32 11 stiff ventricle.

22:50:34 12 Q. So it interrupts the electrical  
22:50:36 13 conduction?

22:50:36 14 A. And those are different problems, as you  
22:50:38 15 understand. You have conduction abnormalities, okay,  
22:50:44 16 but you also have abnormalities of relaxation.  
22:50:48 17 That's diastole.

22:50:50 18 Q. Okay. And it stiffens the muscle of the  
22:50:54 19 heart?

22:50:54 20 A. That's right.

22:50:54 21 Q. And it reduces diastolic function?

22:50:58 22 A. That's right.

22:50:58 23 Q. The reduction of electrical conduction  
22:51:02 24 can lead to arrhythmic events, can it not?

22:51:06 25 A. It can -- it certainly can.

22:51:06 1 Q. And, in fact, in this case, according to  
22:51:08 2 the autopsy and death certificate, her cause of death  
22:51:14 3 was an arrhythmic event?

22:51:20 4 A. Well, on autopsy you can't determine that  
22:51:22 5 because it's a physiological determination. Autopsy  
22:51:24 6 is an anatomical determination. She did have  
22:51:28 7 conduction system disease.

22:51:32 8 Q. Do you disagree with the opinions stated  
22:51:34 9 in the autopsy?

22:51:36 10 A. Let me review the autopsy report.

22:51:38 11 MS. TAYLOR-KOLIS: Parenthetically,  
22:51:40 12 your expert disagreed with it, too, so -- here you  
22:51:46 13 go. It's in the back of the record.

22:51:58 14 Q. (BY MR. RISPO) How about the death  
22:52:00 15 certificate? Maybe I was thinking about that. Take  
22:52:00 16 a look at that.

22:52:00 17 MS. TAYLOR-KOLIS: It's actually in  
22:52:02 18 the autopsy. The death certificate that you have  
22:52:06 19 says "Pending," and the death certificate also  
22:52:12 20 doesn't say --

22:52:14 21 A. Cardiac arrhythmia --

22:52:14 22 MS. TAYLOR-KOLIS: Right. There we  
22:52:18 23 go. Okay.

22:52:18 24 A. And, in fact, that happened  
22:52:18 25 physiologically, but --

22:52:20 1 Q. (BY MR. RISPO) She did have arrhythmia?

22:52:22 2 A. Yes, she had arrhythmia entropitably.

22:52:30 3 She went into a bradycardic rhythm.

22:52:30 4 Q. And the death certificate lists that as

22:52:32 5 the immediate cause of death?

22:52:36 6 A. No. Let's see. Well, this is a matter

22:52:40 7 of public record, so probable cardiac arrhythmia.

22:52:48 8 Q. Is that the immediate cause of death?

22:52:50 9 A. No, that's -- that's a -- I don't know

22:52:50 10 how --

22:52:50 11 MS. TAYLOR-KOLIS: In Ohio this is

22:52:56 12 how we do it.

22:52:56 13 THE WITNESS: Okay.

22:52:56 14 A. Probable cardiac arrhythmia.

22:52:58 15 Q. (BY MR. RISPO) Okay. Do you read that

22:53:06 16 to mean that that was the cause of her death?

22:53:10 17 A. Her arrhythmia. Now, do you want to say

22:53:16 18 that's the proximal cause?

22:53:16 19 Q. Well, --

22:53:18 20 A. Why did -- why did one develop an

22:53:20 21 arrhythmia in the first place? I'm sorry.

22:53:22 22 Q. Okay. Well, that's what we're getting

22:53:24 23 to, --

22:53:24 24 A. Okay.

22:53:24 25 Q. -- and I was going to ask you if -- if we



22:53:26 1 agree that she had an arrhythmia at the time of death  
22:53:28 2 which was listed, at least by the death certificate,  
22:53:34 3 as the immediate cause of death, --

22:53:38 4 A. Yes, but the hypotension preceded the  
22:53:40 5 arrhythmia.

22:53:42 6 Q. Okay. I understand that.

22:53:42 7 A. Okay.

22:53:44 8 Q. My -- my next question is --

22:53:44 9 A. And so --

22:53:46 10 Q. -- is the -- is the arrhythmia that  
22:53:48 11 caused -- was the immediate cause of death caused by  
22:53:52 12 her condition of amyloidosis?

22:53:56 13 A. No.

22:53:58 14 Q. What is your interpretation?

22:54:02 15 A. Amyloidosis put her in harms way,  
22:54:06 16 absolutely. She has a thick, big ventricle. She was  
22:54:14 17 given a full size induction dose of propofol which  
22:54:18 18 has known deleterious cardiovascular effects. That  
22:54:22 19 dropped her blood pressure dramatically. Her  
22:54:24 20 diastolic blood pressure became very low. The  
22:54:28 21 problem with that is that you only profuse the left  
22:54:34 22 ventricle during diastole, and so the ventricle got  
22:54:38 23 ischemic because of that, and then you develop  
22:54:40 24 arrhythmias, and -- and that's how I look at the  
22:54:50 25 picture. This was not a spontaneous -- her blood

22:54:52 1 pressure wasn't normal and she developed a  
22:54:52 2 spontaneous arrhythmia. That didn't happen. Her  
22:54:56 3 blood -- the bottom dropped out of her blood  
22:54:58 4 pressure.

22:54:58 5 Q. Would it be accurate to say that her  
22:55:00 6 ability to respond to the code or to recover from her  
22:55:06 7 low blood pressure was reduced by her underlying  
22:55:12 8 condition of amyloidosis?

22:55:14 9 A. I would agree with that.

22:55:16 10 Q. Okay.

22:55:16 11 A. I -- I would agree with that fully.

22:55:18 12 Q. Would it be --

22:55:18 13 A. I'm sorry.

22:55:20 14 Q. I didn't want to interrupt your answer.

22:55:22 15 A. Well, as I said earlier, this is a  
22:55:24 16 patient that shouldn't have gone to surgery in the  
22:55:26 17 first place. She's -- her amyloidosis put her in  
22:55:32 18 harms way. She had reduced physiological reserve to  
22:55:34 19 respond to challenges such as hypotension. You and I  
22:55:40 20 would respond much better than that. We can increase  
22:55:42 21 our heart rate. Unfortunately, in this kind of a  
22:55:46 22 situation, increased heart rate is going to do just  
22:55:48 23 the opposite thing for you because you've got to fill  
22:55:52 24 during your diastole. Diastole shortens when your  
22:55:54 25 heart increases, and so you don't fill.

22:55:58 1 Q. Have you completed your answer?

22:56:02 2 A. Yes.

22:56:02 3 Q. Okay. Would it be fair to say then but

22:56:04 4 for her amyloidosis condition she would have had a

22:56:08 5 better chance of responding to this resuscitative

22:56:12 6 effort?

22:56:18 7 A. I would agree with that. It definitely

22:56:22 8 makes it harder to resuscitate her. Can I get some

22:56:32 9 more coffee?

22:56:34 10 Q. Oh, please do.

22:56:36 11 MS. TAYLOR-KOLIS: I'll get it. Do

22:56:38 12 you want me to?

22:56:40 13 THE WITNESS: No, no, that's fine.

22:57:04 14 (Recess taken.)

22:57:04 15 Q. (BY MR. RISPO) Doctor, what experience

22:57:08 16 have you had in treating patients with amyloidosis or

22:57:12 17 amyloid heart conditions?

22:57:16 18 A. I've had two patients I've had to put to

22:57:18 19 sleep with that, looking back, and none were as an

22:57:22 20 attending. They were all as residents -- as a

22:57:26 21 resident.

22:57:32 22 Q. What were the circumstances -- what type

22:57:34 23 of surgery in those two patients?

22:57:36 24 A. One was a joint surgery and one was, I

22:57:42 25 don't remember, some general abdominal case.

22:57:46 1 Q. The joint surgery was elective?

22:57:52 2 A. I believe they were both -- you know, I

22:57:56 3 don't remember all the details. This is a while

22:57:58 4 back.

22:58:00 5 Q. So at least one of them was elective?

22:58:04 6 A. I believe so.

22:58:04 7 Q. But you don't remember the abdominal?

22:58:08 8 A. I don't -- I don't -- I don't remember

22:58:08 9 the specifics of -- really, the specifics of either

22:58:12 10 of them, much.

22:58:14 11 Q. And neither of these were cases in which

22:58:18 12 you were the primary attending?

22:58:20 13 A. No, no, this was as a resident. I have

22:58:22 14 not done any cases of amyloid as an attending.

22:58:26 15 Q. Is amyloid a rare condition?

22:58:28 16 A. Relatively rare, thankfully.

22:58:32 17 Q. Have you ever encountered a patient with

22:58:36 18 amyloidosis that you refused to take to surgery?

22:58:38 19 A. No.

22:58:50 20 Q. Of those two patients that were taken to

22:58:52 21 surgery, in your residency, with amyloidosis, did

22:58:58 22 they survive?

22:58:58 23 A. Both of them survived. Knock on wood. I

22:59:06 24 haven't had any patients die in the operating room.

22:59:10 25 Q. That was my next question.

22:59:12 1 A. Well, say with the exception of trauma  
22:59:16 2  
22:59:18 3 trauma center and so we'll have patients come in who  
22:59:22 4 are essentially in extremis and dead for all intents  
22:59:28 5 and purposes, and we try to resuscitate those  
22:59:32 6 patients, and we do the best we can.  
22:59:34 7 Q. Okay.  
22:59:34 8 A. But, no, I have not.  
22:59:36 9 Q. So except for trauma patients, you've  
22:59:38 10 never had a patient die in surgery?  
22:59:40 11 A. No.  
22:59:42 12 Q. How many have you had die in surgery that  
22:59:44 13 were trauma patients?  
22:59:48 14 A. One or two. I can't give you an exact  
22:59:50 15 number.  
22:59:52 16 Q. When you were the primary attending?  
22:59:54 17 A. Yes. It's very rare. I mean, it's not  
22:59:56 18 rare that trauma patients die. You'll get -- I mean,  
23:00:04 19 they come in extremis.  
23:00:06 20 Q. Of those two who died, or one or two that  
23:00:10 21 died, were either of them in congestive heart  
23:00:14 22 failure?  
23:00:14 23 A. That wasn't their primary problem.  
23:00:16 24 Q. Or ischemic heart disease?  
23:00:20 25 A. No. I wouldn't presume that. This is

23:00:22 1 emergent surgery where we have very little  
23:00:24 2 information on the patient's, really, past medical  
23:00:28 3 history. They come in after a multivehicle accident,  
23:00:32 4 some kind of ballistic trauma, et cetera, and we're  
23:00:36 5 left with, really, damage control. They stop  
23:00:42 6 bleeding from major vessels, the surgeons do that,  
23:00:46 7 and we resuscitate at the same time.

23:00:48 8 Q. I understand. What -- apart from the  
23:00:54 9 trauma patients, can you tell me, have you ever taken  
23:00:56 10 a patient to surgery with congestive heart failure?

23:01:00 11 A. Active congestive heart failure?

23:01:02 12 Q. Inactive.

23:01:04 13 A. Yes.

23:01:04 14 Q. And --

23:01:04 15 A. As -- as in stable?

23:01:06 16 Q. Stable.

23:01:06 17 A. Yes.

23:01:08 18 Q. Inactive.

23:01:10 19 A. Absolutely. I've taken patients to  
23:01:12 20 surgery with EF's of 15 percent.

23:01:16 21 Q. Ejection fraction?

23:01:18 22 A. Ejection fraction of 15 percent.

23:01:20 23 Q. Okay. And in this case the records  
23:01:22 24 indicate, if -- if I understand correctly, that  
23:01:24 25 Mrs. Armstrong had 40 percent -- 47 percent.

23:01:26 1 A. Forty-seven percent, right. So she had  
 23:01:28 2 essentially preserved diastolic function.

23:01:34 3 Q. And of those patients you have taken into  
 23:01:36 4 surgery with inactive congestive heart failure, they  
 23:01:40 5 all survived?

23:01:40 6 A. Yes.

23:01:40 7 Q. Same question. Have you ever taken a  
 23:01:42 8 patient in with remote ischemic heart disease?

23:01:48 9 A. Absolutely. All the time.

23:01:50 10 Q. And they've survived?

23:01:50 11 A. Yes.

23:01:52 12 Q. And is it unusual for a patient to have  
 23:01:56 13 silent MI's?

23:01:58 14 A. No, I don't think it is, actually.

23:02:04 15 Q. Have you ever taken a patient to surgery  
 23:02:04 16 who had a prior silent MI?

23:02:10 17 A. By history, yes. Have I taken a patient  
 23:02:16 18 to surgery with a silent MI that hadn't been  
 23:02:20 19 further -- further evaluated? No. If this is the  
 23:02:24 20 first instance of a silent MI, the patient didn't  
 23:02:28 21 know about it, hasn't been properly evaluated for  
 23:02:30 22 other cardiovascular disease, in particular other  
 23:02:36 23 myocardium at risk, they're not going to surgery.

23:02:38 24 Q. What do you mean by "evaluation"?

23:02:40 25 What -- what is entailed, in the course of your

23:02:44 1 normal practice, in evaluating a patient?

23:02:46 2 A. Preoperative evaluation?

23:02:48 3 Q. Yes.

23:02:48 4 A. Preoperative evaluation entails obtaining

23:02:52 5 a complete history and physical with a review of

23:02:56 6 systems, meshing that with the surgery that's going

23:03:02 7 to be -- that's going to go on, anticipating what the

23:03:04 8 magnitude of the physiological trespass in that

23:03:08 9 surgery is going to involve, and then decide what

23:03:10 10 further risk assessment you need for that patient. A

23:03:14 11 healthy, young, 24-year-old that's going to undergo

23:03:18 12 a -- even major surgery probably needs little further

23:03:24 13 preoperative evaluation other than checking

23:03:26 14 electrolytes and the things like that and being typed

23:03:30 15 and crossed. The patient with multisystem disease

23:03:34 16 needs a much more thorough evaluation to -- to

23:03:36 17 actually determine what their risks are so you can

23:03:40 18 give proper informed consent.

23:03:40 19 Q. Who does the history and physical for

23:03:42 20 your cases?

23:03:42 21 A. They're done in two different ways. For

23:03:46 22 the perioperative patients, they're done by our nurse

23:03:50 23 practitioners in our preoperative evaluation center,

23:03:52 24 and then residents and the attending physician will

23:03:58 25 see the patient and further verify those details, and



23:04:02 1 then decisions will be made about what needs to be  
23:04:04 2 further done if the patient is -- is ready for  
23:04:08 3 surgery based on what we think their comorbidities  
23:04:12 4 are and we think we understand their risks, so at  
23:04:16 5 that point I can give them the informed consent.

23:04:18 6 Q. Who is -- did I understand you to say  
23:04:20 7 earlier that the residents and attending physicians  
23:04:22 8 are responsible to verify the details of a  
23:04:24 9 patient's condition?

23:04:26 10 A. That's correct.

23:04:28 11 Q. So it's not the anesthesiologist who does  
23:04:30 12 that?

23:04:30 13 A. Well, the VPEC -- that will take place  
23:04:34 14 separately. Sorry. On the day of surgery -- that's  
23:04:36 15 in the preoperative clinic. On the day of surgery  
23:04:40 16 the patients will come down to our holding room and  
23:04:42 17 it's the anesthesiologist's responsibility to verify  
23:04:48 18 that the information and the preoperative assessment  
23:04:50 19 is correct, decide in their own mind if, in fact,  
23:04:54 20 that patient is ready for surgery based on the  
23:04:56 21 information at hand.

23:04:58 22 Q. What is entailed in the verification by  
23:05:00 23 the anesthesiologist that those conditions -- whether  
23:05:04 24 they're correct?

23:05:04 25 A. It entails looking at what medical

23:05:08 1 records are available in our electronic medical  
23:05:12 2 records system, looking at the current VPEC  
23:05:20 3 documentation, typically ECG's, if warranted,  
23:05:26 4 pertinent laboratory data, and pertinent radiographic  
23:05:32 5 data.

23:05:32 5 Q. Can you enlighten us what is VPEC?

23:05:32 7 A. Vanderbilt Preoperative Evaluation  
23:05:34 8 Clinic.

23:05:36 9 Q. Is that the same thing as pre --

23:05:38 10 A. It's preop --

23:05:40 11 Q. -- admission testing?

23:05:40 12 A. Exactly. It's the same thing.

23:05:42 13 Q. So, again, your statement was to review  
23:05:44 14 the medical records available and to review the test  
23:05:46 15 results in the PAT clinic?

23:05:50 16 A. Exactly. Now, all that, stuff -- for us  
23:05:56 17 all that. stuff is available on line, so we can  
23:06:00 18 actually review that the night before surgery.

23:06:04 19 Q. As an anesthesiologist, how often do you  
23:06:08 20 go beyond the medical records available and the test  
23:06:12 21 results in the PAT clinic? Let me Sack that up.  
23:06:18 22 Star', over with a question. Do you rely upon the  
23:06:22 23 accuracy of those medical records and test results in  
23:06:28 24 the computer, and the Vanderbilt records, when you --  
23:06:34 25 routinely when you ~].ear a patient for surgery?

23:06:38 1 A. It depends on what my own review of  
23:06:42 2 systems reveals.  
23:06:42 3 Q. Okay.  
23:06:42 4 A. And so --  
23:06:44 5 Q. Under what circumstances do you go  
23:06:46 6 beyond, and what do you do?  
23:06:48 7 A. Well, if my review of systems with the  
23:06:50 8 patient doesn't jibe with -- if my view of the  
23:06:52 9 patient doesn't jibe with the preoperative  
23:07:00 10 evaluation, then further things have to be done to  
23:07:04 11 clear up the issues. Let's say the patient now  
23:07:08 12 describes, because they -- they didn't originally  
23:07:12 13 describe, they have intermittent chest pain radiating  
23:07:16 14 into the left arm or chest tightness here. I've got  
23:07:20 15 to further evaluate that. I'm not going to take that  
23:07:22 16 patient to surgery, unless it's emergent surgery that  
23:07:24 17 has to be done, without further evaluating that.  
23:07:30 18 Q. If, however, your observations upon  
23:07:34 19 examining the patient jibe with those in the medical  
23:07:36 20 records available and the preadmission testing, then  
23:07:40 21 you would see no further need to inquire further?  
23:07:46 22 A. That's true. Now, my medical records  
23:07:50 23 typically include detailed histories in our  
23:07:56 24 electronic system.  
23:08:00 25 Q. What is the extent to which you do any

23:08:04 1 independent examination of the patient?

23:08:10 2 A. Could you rephrase that?

23:08:12 3 Q. There's got to be a better way.

23:08:14 4 MS. TAYLOR-KOLIS: Ask him if

23:08:16 5 performs a physical exam.

23:08:16 6 A. In the preoperative evaluation -- do you

23:08:18 7 mean in the preoperative evaluation?

23:08:20 8 Q. (BY MR. RISPO) Let me distinguish.

23:08:22 9 First of all, I know that preoperative testing would

23:08:26 10 have already been done.

23:08:26 11 A. That's right.

23:08:28 12 Q. My question is independent of that

23:08:30 13 testing, what is the extent to which you conduct any

23:08:34 14 further examination.

23:08:36 15 A. What kind of examination? A physical

23:08:38 16 exam?

23:08:38 17 Q. Any kind of examination before you clear

23:08:40 18 a patient.

23:08:40 19 A. Oh. All -- all the patients I see will

23:08:42 20 get a -- a -- I will go over the details of their

23:08:44 21 past medical history, their medications, their past

23:08:48 22 surgical history, do a separate review of systems on

23:08:52 23 that, and then do a physical exam. Now, my physical

23:08:58 24 exam is going to be focused towards those issues that

23:09:00 25 I'm most worried about which are always

23:09:04 1 cardiopulmonary. Well, let's say it's a neuro  
23:09:04 2 patient. I'm going to check -- do a -- a  
23:09:10 3 neurological exam, et cetera. If it's an orthopaedic  
23:09:10 4 patient with a potentially ischemic limb, I'll check  
23:09:16 5 pulses. And so it's a focused exam about determining  
23:09:16 6 what I think my problems are.

23:09:20 7 Q. Is it the responsibility of preadmission  
23:09:22 8 testing to take into account the same history that  
23:09:30 9 you're looking at or to obtain the same history that  
23:09:36 10 your looking at?

23:09:38 11 A. To some extent.

23:09:40 12 Q. Is it the responsibility of preadmission  
23:09:42 13 testing to contact the attending physician and the  
23:09:48 14 primary care physician?

23:09:48 15 A. Not the primary care physician. We  
23:09:54 16 supervise the preoperative testing, we being  
23:09:56 17 anesthesiologists, and so within our group there are  
23:10:00 18 reference people. What I mean by reference, there  
23:10:04 19 are people that, depending on the service that the  
23:10:08 20 patient is coming from, they'll call an  
23:10:10 21 anesthesiologist, an expert in that area, okay. And  
23:10:16 22 so if one of the preop -- if one of our nurses has  
23:10:20 23 some questions about a patient in the preop center,  
23:10:22 24 they'll call us and we'll have a phone consultation  
23:10:26 25 or we'll go see them if there's an issue.

23:10:30 1 Q. Let me rephrase the question. Whose  
 23:10:32 2 responsibility is it, if any, to contact the primary  
 23:10:36 3 care physician if it is not the attending?

23:10:40 4 A. The primary care physician in terms of  
 23:10:42 5 what?

23:10:44 6 Q. Internal medicine cardiology.

23:10:48 7 A. To obtain consultation?

23:10:52 8 Q. Correct.

23:10:52 9 A. To obtain consultation for further  
 23:10:54 10 evaluation?

23:10:56 11 Q. To obtain, first of all, a history on the  
 23:10:58 12 patient. Where do they get the history?

23:11:02 13 A. They elicit the history --

23:11:02 14 Q. From the patient?

23:11:04 15 A. -- from the patient.

23:11:04 16 Q. Okay. Does anyone have the duty to  
 23:11:08 17 contact the primary care physician to obtain an  
 23:11:12 18 independent history independent of what the patient  
 23:11:12 19 conveys?

23:11:16 20 A. Those same nurses will do the same thing,

23:11:18 21 Q. Now, explain that for me. Are they  
 23:11:22 22 getting it from the patient, or from the primary care  
 23:11:24 23 physician, or both?

23:11:26 24 A. They get it from the patient. We will do  
 23:11:28 25 an independent examination. Now, for instance, --

23:11:34 1 Q. Well, let's talk about history for the  
23:11:36 2 moment. Okay? Who gets the history and from whom?

23:11:40 3 A. We take the history directly.

23:11:42 4 Q. From whom?

23:11:44 5 A. From the patient.

23:11:44 6 Q. Okay. And does anyone have the  
23:11:46 7 responsibility to contact the primary care physician  
23:11:50 8 to obtain the history?

23:11:52 9 A. No. There's no explicit -- there's no  
23:11:58 10 explicit reason to do that. If we have -- if we're  
23:12:02 11 in a quandary about really what the patient is  
23:12:08 12 telling us or we need further clarification of their  
23:12:12 13 medical condition, we can contact -- we'll contact  
23:12:14 14 the primary care physician.

23:12:18 15 Q. So then they rely principally upon the  
23:12:22 16 plaintiff -- the patient to provide an adequate  
23:12:24 17 history?

23:12:26 18 A. That's correct.

23:12:32 19 Q. Does the attending physician or the  
23:12:36 20 surgeon have any duty to contact the primary care  
23:12:40 21 physician for clearance?

23:12:42 22 A. I can't speak to what the surgeon's  
23:12:44 23 responsibilities are.

23:12:46 24 Q. So you have no opinion on that?

23:12:52 25 A. I do have an opinion. I think if people

23:13:00 2 practice collaborative medicine.

23:13:02 3 Q. What do you mean by that?

23:13:06 4 A. They would all talk to each other.

23:13:06 5 Q. If there is a worry, and not otherwise?

23:13:10 6 A. Yes. I mean, in this situation the  
23:13:18 7 primary care physician -- I don't know what --  
23:13:22 8 assuming the surgeon and the anesthesiologist thought  
23:13:26 9 that they had an accurate history, there's nothing  
23:13:28 10 further that the primary care physician is going to  
23:13:32 11 add. He's not going to have real insight into the  
23:13:38 12 physiology of the perioperative period.

23:13:40 13 Q. I'm sorry. I missed that. Who is not  
23:13:42 14 going to have insight?

23:13:42 15 A. I don't think the primary care physician  
23:13:44 16 is going to have any real insight into the physiology  
23:13:50 17 of the perioperative period.

23:13:52 18 Q. I'm going to ask you to assume, for the  
23:13:56 19 sake of this question -- my understanding of the  
23:13:58 20 records indicate that Mrs. Armstrong had several  
23:14:04 21 cardiac studies in the preceding six months under the  
23:14:10 22 direction of Dr. Richardson or consults from  
23:14:16 23 Dr. Richardson.

23:14:16 24 A. That's correct. That's a matter of  
23:14:18 25 record.



23:14:18 1 Q. Who has the duty to obtain the  
23:14:22 2 information and the results of those cardiac studies?  
23:14:26 3 Is it the nurses in PAT, is it the --

23:14:30 4 A. The final responsibility rests with the  
23:14:32 5 anesthesiologist.

23:14:34 6 Q. Who else has a duty to obtain that  
23:14:38 7 history?

23:14:38 8 A. I would think that the surgeon would as  
23:14:40 9 well.

23:14:40 10 Q. And how about the preadmission testing  
23:14:42 11 doctors?

23:14:44 12 A. Well, it's -- those aren't physicians.  
23:14:46 13 Those are nurses.

23:14:46 14 Q. Okay. How about them? Do they have a  
23:14:50 15 duty to obtain the results of those cardiac studies?

23:14:52 16 A. If they were so directed by the people  
23:14:54 17 that supervise them. I presume that they don't  
23:14:58 18 operate independently. They're under the direction  
23:15:00 19 of a physician.

23:15:00 20 Q. The nurses that are in the PAT  
23:15:04 21 department, I understood you to say, work under the  
23:15:06 22 direction of the Department of Anesthesia?

23:15:08 23 A. They do.

23:15:10 24 Q. So is it the responsibility of the  
23:15:12 25 doctors in the Department of Anesthesia then to

23:15:14 1 obtain the history from the primary care physician?

23:15:20 2 A. If we had a -- if we had a patient --  
23:15:22 3 yes, we would do that.

23:15:26 4 Q. Now, let's suppose that the  
23:15:28 5 anesthesiologist is not aware of that information  
23:15:32 6 and the patient doesn't provide that information in  
23:15:36 7 the preadmission testing reports, but the surgeon is  
23:15:42 8 aware of the fact that she has had a cardiac workup  
23:15:48 9 by virtue of his contact with the primary care  
23:15:52 10 physician. Would it not then be the responsibility  
23:15:56 11 of the surgeon to obtain the details of that cardiac  
23:16:00 12 workup and provide it to the preadmission testing  
23:16:04 13 department and/or the anesthesiologist?

23:16:10 14 A. Is it his responsibility? I don't know  
23:16:14 15 that it's his responsibility. Certainly, it would be  
23:16:18 16 prudent to do that.

23:16:20 17 Q. You used the term --

23:16:22 18 A. And here's my -- here's my view. The  
23:16:22 19 anesthesiologist has an independent responsibility to  
23:16:28 20 accurately evaluate the patient.

23:16:32 21 Q. We all agree on that.

23:16:34 22 A. Okay.

23:16:34 23 Q. But the question is if the  
23:16:36 24 anesthesiologist has no information, but the surgeon  
23:16:40 25 does, that there is information out there at least to

23:16:44 1 be obtained, isn't it the responsibility of the  
 23:16:46 2 surgeon to get that information and bring it in?

23:16:48 3 A. I would think that he would talk to the  
 23:16:50 4 anesthesiologist about that, especially if it was  
 23:16:54 5 significant.

23:16:54 6 Q. You used a term earlier, I didn't write  
 23:16:58 7 it down, in which you described the responsibility of  
 23:17:04 8 the physicians is to talk to each other. What did  
 23:17:04 9 you --

23:17:08 10 A. Collaborative medicine.

23:17:10 11 Q. Collaborative medicine. Okay.

23:17:12 12 A. And I must say I'm biased by my training.  
 23:17:16 13 Mayo Clinic generated its -- its name in  
 23:17:20 14 collaborative medicine which is really nothing more  
 23:17:24 15 than the physicians talking to each other.

23:17:26 16 Q. It's -- it's good medicine.

23:17:28 17 A. Yes.

23:17:28 18 Q. Okay.

23:17:30 19 MS. TAYLOR-KOLIS: If you need a  
 23:17:32 20 break at any time, Doctor, we forgot to tell you,  
 23:17:34 21 you're allowed to raise your hand and say you need a  
 23:17:38 22 break.

23:17:42 23 THE WITNESS: The last break I saw  
 23:17:46 24 was -- off the record,

23:17:50 25 MS. TAYLOR-KOLIS: The last break

23:17:50 1 you saw was --

23:17:52 2 THE WITNESS: In the deposition  
23:17:54 3 where you had to go take a break.

23:17:56 4 MS. TAYLOR-KOLIS: Oh. That's  
23:17:58 5 because the doctor's pager was going off.

23:18:00 6 Q. (BY MR. RISPO) Doctor, I'd like to ask  
23:18:02 7 you some questions based on your knowledge of the  
23:18:04 8 record here.

23:18:04 9 A. Okay. Which record is that? We have  
23:18:06 10 lots of records here.

23:18:08 11 Q. Well, the collective record that you've  
23:18:08 12 seen --

23:18:08 13 A. Oh, jeez.

23:18:10 14 Q. -- in this case. They won't be  
23:18:12 15 difficult. I think they're key issues and you  
23:18:14 16 probably have them uppermost in your mind. If you  
23:18:18 17 don't recall them, feel free to look them up or tell  
23:18:22 18 us that you don't know.

23:18:24 19 A. Okay.

23:18:24 20 Q. What is your understanding of  
23:18:28 21 Mrs. Armstrong's known medical history, apart from  
23:18:34 22 amyloidosis which we learned after the fact, prior  
23:18:38 23 to -- immediately prior to her surgery? What was her  
23:18:42 24 condition, and I'm then going to ask you what --  
23:18:46 25 where was that information made available and who

23:18:48 1       obtained it.

23:18:52 2           a.       From whose perspective?

23:18:54 3           Q.       The patient's perspective and/or the

23:19:00 4       attending primary care physician.

23:19:04 5           A.       Well, that's a complete -- okay.

23:19:06 6           Q.       Any and all medical providers is what I'm

23:19:10 7       asking you. What was her known medical condition

23:19:12 8       immediately prior to her surgery?

23:19:14 9           A.       She had a history of hypertension, a

23:19:14 10       history of benign meningioma, a history of lower

23:19:14 11       extremity arterial thrombus, a history of protein C

23:19:42 12       deficiency, a history of TIA which was explained on

23:19:42 13       the basis of her -- on the basis of her meningioma.

23:19:42 14       Let's see, a history of a lambda chain

23:19:50 15       myelodysplastic dyscrasia, a history of anxiety -- I

23:19:52 16       don't know that it was formally put down, but she

23:19:58 17       certainly had an anxiety disorder. Well, I can't say

23:20:00 18       that. She was certainly anxious and placed on

23:20:02 19       medication for that. It was never labeled as such.

23:20:08 20       And a history of dysmenorrhea originally and now

23:20:16 21       chronic pelvic pain. And I think that's it.

23:20:24 22           Q.       I counted nine conditions you've

23:20:26 23       identified.

23:20:28 24           A.       Yes. Now, let's add some other

23:20:32 25       provisional diagnoses which are -- you can code them,

23:20:40 1 they were certainly accelerating and intermittent,  
 23:20:56 2 dyspnea, orthopnea, angina, and there are workups to  
 23:20:58 3 that effect in the -- in the -- in her chart.

23:21:06 4 Q. Are any one of these now 12 conditions  
 23:21:08 5 you've described absolute contraindications to  
 23:21:16 6 surgery?

23:21:20 7 A. Certainly unstable angina.

23:21:22 8 Q. Well, did she have unstable angina?

23:21:26 9 A. What kind of surgery are we talking  
 23:21:28 10 about?

23:21:28 11 Q. The surgery she had in this case.

23:21:30 12 A. Elective -- elective surgery.

23:21:30 13 Q. Elective OB/GYN.

23:21:34 14 A. Elective OB/GYN. Unstable angina.

23:21:40 15 Q. Now, did she have unstable angina?

23:21:42 16 A. No. Escalating dyspnea.

23:21:48 17 Q. Okay. My -- do you have to make a call?

23:21:52 18 A. No.

23:21:52 19 Q. Okay. My question is of those conditions  
 23:21:54 20 she had that were documented in the medical records,  
 23:21:58 21 whether any of them were absolute contraindications  
 23:22:02 22 to surgery, OB/GYN surgery.

23:22:06 23 A. Well, let me add two other diagnoses  
 23:22:10 24 then. EKG abnormalities consistent with an anterior  
 23:22:20 25 MI of undiagnosed condition, and cardiomegaly.

23:22:28 1 Q. Okay. Now, the same question. Were any  
23:22:30 2 of these conditions, now 14 in number, absolute  
23:22:34 3 contraindications to surgery?

23:22:40 4 A. Not with proper workup to establish  
23:22:44 5 risks. The issue --

23:22:56 6 Q. Let's talk --

23:22:56 7 A. I'm sorry --

23:22:58 8 Q. You finish, please.

23:22:58 9 A. The issue revolves around how do you tell  
23:23:04 10 the patient how serious of an operation this is going  
23:23:06 11 to be, and so you need to establish the risk. What's  
23:23:08 12 the relative risk of undergoing this operation versus  
23:23:12 13 weighing the risks and benefits of alternative  
23:23:16 14 therapies.

23:23:16 15 Q. Okay. I understand that, but I think,  
23:23:18 16 from the standpoint of my question, I'm trying to  
23:23:20 17 determine whether any of those were absolute.

23:23:24 18 A. No -- any -- any -- no -- no one of those  
23:23:24 19 would be an absolute contraindication, but if you get  
23:23:26 20 a constellation of those, I would cancel surgery  
23:23:32 21 until I could further verify what's going on if I had  
23:23:38 22 questions in my mind.

23:23:38 23 Q. Okay. Let me go back now and take these  
23:23:40 24 one by one.

23:23:42 25 A. Okay.

23:23:42 1 Q. Hypertension. Patients go to surgery  
23:23:46 2 with hypertension all the time, right?

23:23:48 3 A. Absolutely.

23:23:48 4 Q. Properly managed?

23:23:50 5 A. Absolutely. Can I stop you here for a  
23:23:52 6 second?

23:23:52 7 Q. Okay.

23:23:54 8 A. We can go down that whole list one by one  
23:23:56 9 and say can individual patients with those single  
23:24:04 10 entities go to surgery safely and I'll answer yes to  
23:24:08 11 all of those, but that's not the issue because we  
23:24:10 12 don't have such a patient here, and often we do have  
23:24:12 13 patients with single entities. We have a  
23:24:14 14 constellation of symptoms and diseases together  
23:24:18 15 which, once summated, change their risks  
23:24:24 16 dramatically. In particular, an ECG that has a Q --  
23:24:32 17 well, essentially Q waves in your anterior leads of  
23:24:32 18 undetermined age.

23:24:36 19 Q. Okay. So then, if I may, with your  
23:24:40 20 permission, check off any one of these individual  
23:24:44 21 conditions, hypertension, benign meningioma, arterial  
23:24:52 22 clotting in the lower leg -- limbs, protein C  
23:24:52 23 deficiency, TIA's, the blood dyscrasia, anxiety  
23:24:58 24 attacks, dysmenorrhea, chronic angina, dys --

23:24:58 25 A. Chronic stable angina, yes.



23:25:04 1

Q. Stable angina.

23:25:04 2

A. Make it stable, please.

23:25:06 3

Q. Okay. -- dyspnea, orthopnea, any one of

23:25:12 4

those 11, at least, are not absolute

23:25:14 5

contraindications to surgery?

23:25:16 6

A. No, but does it change the risk of

23:25:20 7

surgery? Depending on those conditions, the risks

23:25:22 8

are going to be different.

23:25:24 9

Q. Okay. Then let's talk or focus on the

23:25:28 10

EKG and cardiomegaly.

23:25:30 11

A. Okay.

23:25:30 12

Q. The EKG, to your understanding, what did

23:25:32 13

it tell us in this case?

23:25:34 14

A. That she has cardiovascular disease. It

23:25:38 15

looks like she has an old -- for all intents and

23:25:42 16

purposes, an old anterior MI of undetermined age.

23:25:52 17

Q. Patients with that kind of profile on EKG

23:25:56 18

go to surgery all the time, don't they?

23:25:58 19

A. No, they do not.

23:25:58 20

Q. Do you --

23:26:00 21

A. The age --

23:26:00 22

Q. -- do you refuse surgery to those

23:26:02 23

patients who have an old anterior MI?

23:26:08 24

A. Not of undetermined age -- of

23:26:08 25

undetermined age, I do.

23:26:10 1 Q. I'm sorry. I didn't understand the  
23:26:12 2 answer.

23:26:12 3 A. Sorry. It wasn't articulated well. Old  
23:26:16 4 anterior MI's of undetermined age with no historical  
23:26:22 5 reference of how old it is, yes, those patients don't  
23:26:26 6 go to elective surgery because the morbidity and  
23:26:30 7 mortality in the perioperative period -- if you do  
23:26:32 8 surgery right after MI, it goes way up.

23:26:36 9 Q. Okay. Let's inquire then how do you  
23:26:40 10 determine the age?

23:26:42 11 A. Previous electrocardiographic studies.

23:26:48 12 Q. And if those previous  
23:26:50 13 electrocardiographic studies indicate that the MI is  
23:26:54 14 old --

23:26:54 15 A. If the MI is old and you've subsequently  
23:26:56 16 determined, in fact -- here's the things that you  
23:26:58 17 need to determine then, is why did they have an MI?  
23:27:02 18 Did they have ischemic disease? Is there new -- are  
23:27:08 19 there regions of myocardium at risk? If somebody has  
23:27:12 20 an anterior MI, that's a big deal. It's not like  
23:27:12 21 inferior MI's. People have inferior MI's all the  
23:27:22 22 time and don't even know it. Interior MI's --  
23:27:24 23 usually that's a big portion of the muscle. So you  
23:27:28 24 would need subsequent evaluation to determine -- for  
23:27:32 25 instance, a cath and an echo, at least, to determine

23:27:34 1 what kind of functional reserve we have, and probably  
23:27:40 2 a dobutamine echo or a stress echo, some test to  
23:27:42 3 evaluate do we have other myocardium that's  
23:27:48 4 potentially ischemic under exercise conditions.

23:27:52 5 Q. Okay. Have you completed your answer?

23:27:54 6 A. Yes. I'm a little long-winded.

23:28:00 7 Q. Do you know whether Dr. Richardson ever  
23:28:06 8 established himself, or in consultation, whether  
23:28:10 9 Mrs. Armstrong had ischemic disease?

23:28:14 10 A. I don't think -- I don't think that he  
23:28:16 11 did. I mean, --

23:28:18 12 Q. Isn't it a fact that Dr. Richardson  
23:28:20 13 attributed her chest pain to anxiety attacks?

23:28:28 14 A. Let me look. I don't know that.

23:28:28 15 THE WITNESS: I don't know where to  
23:28:36 16 find that.

23:28:36 17 MS. TAYLOR-KOLIS: I think what  
23:28:36 18 you're --

23:28:42 19 A. He certainly -- I mean, he worked her up  
23:28:44 20 for -- he appropriately worked her up for her -- her  
23:28:48 21 chest pain --

23:28:52 22 MS. TAYLOR-KOLIS: Here you go.  
23:28:52 23 Here's his record. Sorry.

23:28:54 24 A. -- and so he took them seriously. He got  
23:28:58 25 a nuclear medicine scan. She was echoed, she was --

23:29:12 1 she had a nuclear medicine scan, so he worked her up  
23:29:18 2 for that. I don't remember his -- because I've read  
23:29:50 3 through his notes. I didn't --

23:29:52 4 Q. (BY MR. RISPO) Would you refer -- direct  
23:29:54 5 your attention to his note -- office note of July  
23:29:56 6 6th, '99?

23:30:00 7 A. July 6th?

23:30:02 8 MS. TAYLOR-KOLIS: Do you have a  
23:30:02 9 Bates stamped copy or are you just looking up a --  
23:30:06 10 it's all right if you don't.

23:30:08 11 MR. RISPO: I'm looking at a summary  
23:30:10 12 here.

23:30:10 13 MS. TAYLOR-KOLIS: Okay.

23:30:12 14 MR. RISPO: It looks like it's Bates  
23:30:14 15 stamped 0003.

23:30:18 16 MS. TAYLOR-KOLIS: I can't remember  
23:30:18 17 if we put these in reverse order or not. There you  
23:30:24 18 go.

23:30:24 19 A. Chest pain in the left breast, get out of  
23:30:34 20 breath walking up stairs, can't sleep because of --

23:30:42 21 Q. (BY MR. RISPO) Feel free to read through  
23:30:42 22 it, but I would direct your attention especially to  
23:30:46 23 his assessment.

23:30:46 24 A. I'm looking at his plan and assessment,  
23:30:56 25 assessment number 2, where -- she's at risk for

23:30:58 1 MI and PE secondary to protein C deficiency, my  
23:31:04 2 suspicion as well, and I think her anxiety is  
23:31:04 3 contributory.

23:31:08 4 Q. Contributing greatly to her symptoms?

23:31:12 5 A. He said contributory. He didn't say  
23:31:14 6 contributing greatly. He said contributory. But if  
23:31:16 7 I remember correctly, he subsequently worked her  
23:31:18 8 up -- let me see when his -- where his imaging  
23:31:24 9 studies fall in terms of the grand scheme of things  
23:31:30 10 Okay. So -- yes, he had worked her up previously.

23:31:50 11 Q. Previously?

23:31:50 12 A. Yes, in April of that year, and she  
23:31:58 13 continued to have symptoms.

23:32:02 14 Q. And he concluded that she'd had these  
23:32:04 15 symptoms for a period of three to four years.

23:32:08 16 A. I didn't see that.

23:32:10 17 Q. Well, in --

23:32:14 18 MS. TAYLOR-KOLIS: Point it out in  
23:32:14 19 the records to us.

23:32:14 20 MR. RISPO: Okay.

23:32:16 21 Q. (BY MR. RISPO) The April 13th of '99  
23:32:18 22 progress note. This would be -- it looks like page  
23:32:26 23 00012. You'll see the --

23:32:42 24 A. It says --

23:32:42 25 Q. -- pressure feeling right upper chest --

23:32:44 1 left upper chest --

23:32:44 2 A. And palpitation.

23:32:46 3 Q. -- and left shoulder over times four

23:32:48 4 years.

23:32:50 5 A. Hurts when she walks a short distance.

23:32:52 6 Q. All right. Over a period of four years,

23:32:54 7 correct?

23:32:56 8 A. I don't see that.

23:33:00 9 Q. End of the line under Allergies.

23:33:04 10 A. Oh, there --

23:33:06 11 Q. Third line under Allergies.

23:33:08 12 A. Right, uh-huh.

23:33:08 13 Q. She had that for a period of four years.

23:33:10 14 And, again, he repeats in July that she had a

23:33:16 15 period -- over a period of three years. So my

23:33:50 16 question is what was Dr. Richardson's conclusion as

23:33:56 17 to the cause for her chest pain?

23:34:06 18 A. You know, I'm not an internist and I

23:34:08 19 don't want to speak to that.

23:34:10 20 Q. What information was provided by

23:34:12 21 Dr. Richardson to the surgeon, Dr. Bartulica, if any,

23:34:16 22 if you know, when Dr. Bartulica called Dr. Richardson

23:34:24 23 to obtain clearance?

23:34:26 24 MS. TAYLOR-KOLIS: I'm going to

23:34:26 25 object to the question. There's no evidence

23:34:28 1 established that he called him for clearance  
23:34:32 2 regarding the overall surgical picture, but you can  
23:34:36 3 try to answer it if you can.

23:34:36 4 MR. RISPO: Well, then let me break  
23:34:38 5 it up and let's make sure we're on the same page.

23:34:40 6 MS. TAYLOR-KOLIS: Sure.

23:34:40 7 Q. (BY MR. RISPO) Do you -- what is your  
23:34:40 8 understanding as to who obtained clearance from whom,  
23:34:44 9 and, specifically, did -- is it your understanding --  
23:34:48 10 do you have any information in the record?

23:34:50 11 A. My understanding is that -- is that the  
23:34:52 12 OB/GYN contacted the internist to modify the  
23:35:00 13 anticoagulation profile -- anticoagulation medication  
23:35:04 14 for the perioperative period, and that's that, and  
23:35:08 15 that's what's -- in my reading of the deposition of  
23:35:14 16 Bartulica, that's what he says.

23:35:16 17 Q. Only to discuss the Coumadin and --

23:35:20 18 A. Yes, exactly. And so he made some  
23:35:22 19 recommendations to put the patient on Lovenox and  
23:35:26 20 things like that, and that was the extent of their --  
23:35:28 21 that consultation.

23:35:32 22 Q. Then who obtained clearance, if anybody,  
23:35:36 23 from Dr. Richardson?

23:35:38 24 A. Clearance -- clearance for surgery is not  
23:35:42 25 obtained -- clearance for surgery is done twofold.

23:35:46 1 The surgeon decides the patient is ready, the  
23:35:48 2 anesthesiologist decides the patient is ready, and  
23:35:50 3 that's it.

23:35:52 4 Q. Did Dr. Richardson consult on that  
23:35:54 5 question at all?

23:35:54 6 A. Not that I can tell. I don't see any  
23:35:56 7 specific notes where he was consulted on that.

23:36:00 8 Q. Do you know if Dr. Bartulica sought or  
23:36:02 9 Dr. Richardson provided any other details of  
23:36:06 10 Mrs. Armstrong's medical history?

23:36:12 11 A. Not that I -- not that I can tell from  
23:36:14 12 the records. The only thing that I can really tell  
23:36:16 13 from my recollection is that he provided information  
23:36:18 14 on how to modify the anticoagulation.

23:36:24 15 Q. Have you seen the note in Dr. Bartulica's  
23:36:28 16 chart indicating he obtained clearance for surgery  
23:36:32 17 from Dr. Richardson?

23:36:34 18 MS. TAYLOR-KOLIS: Look at the note.  
23:36:34 19 Don't take it out of context. Why don't you read to  
23:36:40 20 him what the note says in the chart. If you don't  
23:36:42 21 have Bartulica's in that one, it will be in your  
23:36:44 22 other notebook. There you go.

23:36:44 23 THE WITNESS: Yes.

23:36:58 24 A. There's a note -- okay. There's a note  
23:37:04 25 on, I guess you guys call it the Bates stamp, on page



23:37:08 1 9, an 8-5-99 note that says, "Call to  
23:37:14 2 Dr. Richardson and prescribing -- making  
23:37:20 3 recommendations on the use of Lovenox," which is a  
23:37:28 4 fractionated heparin, and it says, "Court for surgery  
23:37:36 5 with above," meaning -- my interpretation of that is  
23:37:40 6 that she can undergo surgery with this modification  
23:37:44 7 of anticoagulation, and that was what he was  
23:37:46 8 specifically consulted for.

23:37:50 9 Q. (BY MR. RISPO) On the previous column  
23:37:52 10 there is another statement, "Cleared" -- let's see.  
23:37:56 11 "Okay for surgery per patient."

23:37:58 12 A. I see that.

23:38:00 13 Q. And preceding that comment is the note  
23:38:04 14 "Dr. Richardson," colon. What does that mean to you?

23:38:10 15 A. "patient report that -- that they're okay  
23:38:12 16 per Dr. Richardson" doesn't -- I would call  
23:38:18 17 Dr. Richardson myself.

23:38:20 18 Q. Okay. Does this record collectively  
23:38:22 19 indicate that Dr. Bartulica made a separate call to  
23:38:26 20 Dr. Richardson?

23:38:28 21 A. No. I pre -- yes, he called  
23:38:32 22 Dr. Richardson to get the Lovenox.

23:38:36 23 Q. Okay. So then is it your understanding  
23:38:40 24 that Dr. Bartulica did not ask Dr. Richardson whether  
23:38:52 25 Mrs. Armstrong would be a suitable candidate for

23:38:54 1 surgery but merely asked him about the Lovenox?

23:38:58 2 A. I can't tell from the records because

23:39:00 3 there's nothing in the records that would detail that

23:39:02 4 discussion. That doesn't change -- the final

23:39:06 5 decision has to be made --

23:39:08 6 Q. Okay. Let me ask it a little differently

23:39:14 7 then. Based upon these records alone, would it be

23:39:18 8 fair to understand that Dr. Bartulica was relying

23:39:24 9 upon the patient and the patient's account of her

23:39:28 10 conversation with Dr. Richardson as a clearance for

23:39:32 11 surgery?

23:39:42 12 A. I can't tell that from that conver --

23:39:44 13 from this note.

23:39:48 14 Q. So the note is ambiguous?

23:39:50 15 A. The note is ambiguous. And here's the

23:39:52 16 problem with anybody other than the people that are

23:39:56 17 involved in the surgery clearing them for surgery.

23:40:00 18 There are unique problems from the anesthesia

23:40:02 19 perspective. I'm not going to talk to the surgical

23:40:06 20 perspective. That's not my expertise. The set of

23:40:10 21 drugs that we use have diverse physiological effects

23:40:12 22 on patients that only we know, and so for even a

23:40:16 23 cardiologist to say this patient is okay for surgery,

23:40:20 24 they don't specifically understand the -- the

23:40:24 25 trespass the surgeon's going to do and the kinds of

23:40:26 1 drugs that we use in order to achieve our ends, and  
23:40:32 2 so they can give us estimations of cardiac  
23:40:38 3 performance to allow us to then prescribe the  
23:40:44 4 appropriate drugs, but they can't -- they don't  
23:40:48 5 actually clear patients for surgery.

23:40:50 5 Q. We'll get to that in a moment, but before  
23:40:52 7 we leave the subject I'd like to finish this line of  
23:40:56 8 inquiry. Did Dr. Bartulica have a duty to inquire  
23:41:02 9 independently of Dr. Richardson, the primary care  
23:41:06 10 physician, whether the patient's condition was such  
23:41:08 11 that she could safely undergo surgery?

23:41:12 12 A. Oh, absolutely.

23:41:12 13 MR. FARCIONE: Objection. He is the  
23:41:18 14 obstetrician in this case.

23:41:18 15 MR. RISPO: What did I say?

23:41:20 16 MS. TAYLOR-KOLIS: I think you  
23:41:22 17 said -- gynecologist? Is that what you wanted to  
23:41:24 18 hear, Joe?

23:41:26 19 MR. FARCIONE: Big difference.

23:41:26 20 MS. TAYLOR-KOLIS: Okay.

23:41:28 21 A. Okay. Well, --

23:41:30 22 Q. (BY MR. RISPO) Okay. With that  
23:41:32 23 amendment, did Dr. Bartulica, as the OB/GYN, have an  
23:41:38 24 independent duty to obtain from Dr. Richardson her  
23:41:42 25 history that would be relevant and determine whether

23:41:48 1

she could be cleared for surgery?

23:41:52 2

23:41:56 3

23:42:00 4

23:42:02 5

23:42:06 6

23:42:08 7

23:42:10 8

23:42:14 9

23:42:16 10

23:42:20 11

23:42:22 12

23:42:24 13

23:42:26 14

23:42:28 15

23:42:32 16

23:42:38 17

23:42:40 18

23:42:44 19

23:42:48 20

23:42:52 21

23:42:52 22

23:42:52 23

23:42:58 24

23:42:58 25

A. My answer to that question is -- the way you worded it, no. Yes, he has an independent -- no. Just because he said "cleared," and -- he's got to -- he's got to take this patient to surgery and carry them through the postoperative period, so he has to know what the medical conditions of that patient are in order to know if she's strong enough to go through all that. So yes. Not to clear them for surgery, but are they going to survive the whole perioperative period.

Q. Okay. And if Dr. Bartulica had obtained the medical history as we know it and as you understand it to be, would it be his duty or would he have a duty to share that information with Dr. Celerio or any other anesthesiologist?

A. Moral or fiduciary?

Q. Medical.

A. I don't know if it's written in -- it would certainly be prudent. It would absolutely be prudent.

Q. Good collaborative medicine as you put it?

A. Absolutely. I don't have any debate with that.

23:42:58 1 Q. Okay. Do you have any evidence in the  
23:42:58 2 record to indicate that either -- Dr. Bartulica  
23:43:02 3 either obtained the complete medical history of this  
23:43:06 4 patient or that he provided it to -- that same  
23:43:10 5 information to Dr. Celerio?

23:43:14 6 A. No. There's only one conversation. I  
23:43:18 7 don't -- I don't see any written note saying  
23:43:22 8 discussed the case with Dr. Celerio. There is a line  
23:43:26 9 in his deposition that speaks to that that sounds  
23:43:36 10 like they discussed ECG findings.

23:43:50 11 MS. TAYLOR-KOLIS: Mr. Davis, I can  
23:43:52 12 clearly represent to you that you will not be able to  
23:43:54 13 make your 1:00 o'clock deposition. Is it okay if we  
23:43:54 14 take break? The doctor is looking through the  
23:44:02 15 deposition, the court reporter needs to make a phone  
23:44:02 16 call, and I can --

23:44:02 17 THE WITNESS: I'm working and you  
23:44:02 18 guys are a taking a break?

23:44:04 19 MS. TAYLOR-KOLIS: We're off the  
23:44:04 20 record.

23:44:04 21 (Recess taken.)

23:48:16 22 MR. RISPO: Back on the record.

23:48:16 23 Q. (BY MR. RISPO) Doctor, while we were in  
23:48:18 24 adjournment here, you had an opportunity to go back  
23:48:20 25 to the transcript of the deposition, I think, of

23:48:24 1

Dr. Bartulica.

23:48:28 2

A. That's correct.

23:48:28 3

Q. After having done so, can you answer the

23:48:30 4

earlier question put to you which was, in essence, is

23:48:36 5

there any record to indicate that Dr. Bartulica

23:48:40 6

provided the information on Mrs. Armstrong from

23:48:46 7

Dr. Richardson to Dr. Celerio?

23:48:50 8

A. No, I cannot. ,

23:49:08 9

Q. And if I understood your earlier

23:49:08 10

testimony, Doctor, the only time you would call

23:49:12 11

the -- as the anesthesiologist you would call the

23:49:18 12

primary care physician is if there was something in

23:49:20 13

the records or the history or the examination that

23:49:24 14

you performed that created concern or alarm?

23:49:28 15

A. That's correct.

23:49:30 16

Q. Have you ever taken a patient to surgery

23:49:32 17

who had a remote MI? I think you've already answered

23:49:38 18

that, but I'll ask you again.

23:49:40 19

A. I have.

23:49:42 20

Q. Have you ever taken a patient to surgery

23:49:44 21

who had --

23:49:44 22

A. Now, let me preface that, a remote MI

23:49:48 23

where the patient was then further evaluated so we

23:49:50 24

know what the cardiac function is like.

23:49:52 25

Q. Right. And your answer to that was yes?

23:49:54 1 A. Yes.

23:49:56 2 Q. Okay. Have you ever taken a patient to

23:49:58 3 surgery who had atelectasis?

23:50:04 4 A. Atelectasis is a relative term.

23:50:08 5 Q. Broad term. Okay.

23:50:08 6 A. Yes. And so --

23:50:10 7 Q. How about in this case? Given the x-rays

23:50:14 8 that were available in this case indicating -- was it

23:50:18 9 left lower lobe?

23:50:20 10 MS. TAYLOR-KOLIS: Wait. Not the --

23:50:20 11 just to clarify, you mean the x-ray report.

23:50:24 12 MR. RISPO: Report. Excuse me.

23:50:26 13 MS. TAYLOR-KOLIS: Okay. I just

23:50:26 14 wanted to clarify the question.

23:50:28 15 Q. (BY MR. RISPO) Actually, to be

23:50:28 16 completely accurate, the wet readings of the chest

23:50:34 17 films in this case. Are you familiar with those?

23:50:38 18 A. What readings? I'm not familiar with the

23:50:40 19 term, and -- and Donna and I have talked about that.

23:50:40 20 MS. TAYLOR-KOLIS: Wet read in -- in

23:50:48 21 Ohio legal parlance, we mean the preliminary findings

23:50:48 22 of the radiologist that have not yet been reduced to

23:55:04 23 transcription as a final report.

23:50:54 24 MR. RISPO: I'll accept that as --

23:50:54 25 MS. TAYLOR-KOLIS: Does work for

23:50:54 1 everybody?

23:50:56 2 MR. RISPO: -- my understanding,

23:50:56 3 anyway.

23:50:56 4 A. Okay. Well, that's fine. So we all

23:50:58 5 agree on -- that that's what a wet reading is.

23:51:04 6 Q. (BY MR. RISPO) Are you familiar with the

23:51:04 7 wet readings in this case?

23:51:06 8 A. I am.

23:51:06 9 Q. Right lower lobe consoli -- consolidation

23:51:10 10 and effusion.

23:51:10 11 MS. TAYLOR-KOLIS: Wait. Mr. Rispo,

23:51:10 12 I'm sorry, you --

23:51:10 13 MR. RISPO: I'm looking at the

23:51:14 14 final.

23:51:14 15 MS. TAYLOR-KOLIS: You're looking at

23:51:14 16 the final.

23:51:14 17 MR. RISPO: Okay.

23:51:16 18 MS. TAYLOR-KOLIS: I would suggest

23:51:16 19 to you that in Dr. Bartulica's chart, or at least

23:51:24 20 I -- excuse me for mispronouncing his name, Joe, in

23:51:24 21 his chart there was a faxed copy which arrived from

23:51:26 22 the hospital from PAT. It's probably got PAT at the

23:51:26 23 top of it.

23:51:38 24 MR. RISPO: Okay. I think --

23:51:40 25 MS. TAYLOR-KOLIS: That's the



23:51:40 1 correct document.

23:51:42 2 MR. RISPG: Thank you.

23:51:42 3 MS. TAYLOR-KGLIS: You're welcome.

23:51:44 4 Q. (BY MR. RISPO) I'm handing you what then

23:51:46 5 has been identified as -- we probably should identify

23:51:52 6 it by Exhibit -- Exhibit 4, the preliminary read.

23:52:02 7 MS. TAYLOR-KOLIS: You may not have

23:52:02 8 it.

23:52:04 9 THE WITNESS: No, actually, I do.

23:52:08 10 Oh, I may not have that one then.

23:52:10 11 MS. TAYLOR-KOLIS: Okay. Yes, you

23:52:12 12 do. Okay.

23:52:12 13 (Deposition Exhibit 4 marked.)

23:52:14 14 Q. (BY MR. RISPO) Okay. Assuming that that

23:52:16 15 was the information available to the

23:52:20 16 anesthesiologist, Dr. Celerio, in this case, to the

23:52:26 17 extent that that condition may have been common to

23:52:30 18 your cases, Doctor, have you ever taken a patient to

23:52:32 19 surgery with that condition?

23:52:36 20 A. With -- with atelectasis?

23:52:38 21 Q. Yes, to that extent.

23:52:40 22 A. I wouldn't take the patient to surgery

23:52:40 23 until I saw the x-ray myself, if they have

23:52:42 24 infiltrates, on elective surgery.

23:52:42 25 Q. Okay. Well, let's suppose they do have

23:52:42 1 infiltrates --

23:52:48 2 A. Well, then you --

23:52:48 3 Q. -- on elective surgery.

23:52:48 4 A. Then we have to decide what the

23:52:52 5 infiltrates are due to. Their perioperative

23:52:54 6 morbidity is significantly increased.

23:52:58 7 Q. Suppose that the record also indicates

23:53:00 8 that there is no evidence of infection.

23:53:02 9 A. You can't determine that with -- by an

23:53:06 10 x-ray.

23:53:06 11 Q. Well, let's suppose you look at the lab

23:53:08 12 studies and find that there is no evidence of

23:53:12 13 elevated blood cell -- white blood cell count or

23:53:20 14 elevated temperatures.

23:53:26 15 A. I'm going to look at the x-ray.

23:53:28 16 Q. Okay. Suppose you have --

23:53:30 17 A. And -- and if I --

23:53:30 18 Q. Did you look at the x-ray in this case?

23:53:32 19 A. I did.

23:53:32 20 Q. Okay. And what did you see? Tell us

23:53:34 21 what your understanding of --

23:53:34 22 A. Can I show you the x-ray?

23:53:36 23 Q. You may.

23:53:38 24 A. Have you seen the x-ray?

23:53:38 25 Q. I have not.

23:53:40 1 A. Okay. This is the x-ray of this woman.  
23:53:54 2 Q. How would you interpret that?  
23:54:00 3 A. Gross cardiomegaly, mild vascular  
23:54:06 4 congestion, possible infiltrate in the right middle  
23:54:12 5 lobe, right lower lobe infiltrate, and small right  
23:54:18 6 effusion --  
23:54:18 7 Q. Okay. Now, --  
23:54:20 8 A. -- with some -- with some volume loss.  
23:54:22 9 Q. Okay. Assume that we're talking for the  
23:54:26 10 moment about the vascular congestion. I'm sorry.  
23:54:30 11 The -- did you say pulmonary congestion?  
23:54:34 12 A. Vascular congestion. Just crowding of  
23:54:36 13 the vessels just because her heart is so big.  
23:54:40 14 Q. Okay. What -- what does the pulmonary  
23:54:42 15 cavity look like?  
23:54:42 16 A. What does the what?  
23:54:46 17 Q. Pulmonary.  
23:54:46 18 A. You mean what do -- do the lung fields  
23:54:46 19 look like.  
23:54:48 20 Q. Yes.  
23:54:48 21 A. Okay. The left lung field is clear.  
23:54:50 22 She's got a -- a -- what could be a potential  
23:54:54 23 infiltrate in the right middle, right lower lobe.  
23:54:56 24 That's a small effusion.  
23:54:58 25 Q. Okay. Let's focus on the small effusion

23:55:00 1 on the right side.

23:55:04 2 A. Looking at this chest x-ray, --

23:55:04 3 Q. Yes.

23:55:06 4 A. -- if that's what you're asking me --

23:55:06 5 Q. Yes.

23:55:06 6 A. -- for my interpretation, --

23:55:08 7 Q. Right.

23:55:08 8 A. -- that effusion and that infiltrate

23:55:12 9 wouldn't worry me. That's not what worries me here.

23:55:14 10 This heart is huge.

23:55:16 11 Q. You're looking at the cardiomegaly,

23:55:16 12 right?

23:55:16 13 A. Right.

23:55:20 14 Q. Okay.

23:55:20 15 A. The cardiomegaly is dramatic.

23:55:20 16 Q. Okay. Let's take it step by step. The

23:55:22 17 atelectasis on that film alone wouldn't be such that

23:55:26 18 it would --

23:55:26 19 A. No.

23:55:28 20 Q. -- prohibit elective surgery?

23:55:30 21 A. No, not -- not unless she was -- she had

23:55:34 22 productive sputum, or an elevated white count, or a

23:55:38 23 fever, or both, or a recent history of a URI, --

23:55:38 24 Q. Okay.

23:55:44 25 A. -- upper respiratory infection, none of

23:55:44 1

those which were present by history.

23:55:46 2

23:55:48 3

23:55:52 4

Q. Referring to Exhibit 4 again, is there any indication on this preliminary x-ray report from the x-ray lab and lab technician of cardiomegaly?

23:56:00 5

23:56:02 6

23:56:08 7

23:56:12 8

A. No, but I -- what I'm telling you is, if I can continue, is that if we have an infiltrate on the chest x-ray, I need to look at the chest x-ray to see how big this infiltrate is --

23:56:12 9

Q. Okay.

23:56:14 10

23:56:14 11

23:56:16 12

23:56:18 13

23:56:24 14

23:56:26 15

23:56:32 16

23:56:34 17

23:56:42 18

A. -- to make a decision about what kind of pulmonary problems we're going to get ourselves into. The other question I have is that -- I've got the official report. The official report was transcribed on 8-6 at 10:00 o'clock, so that should have been available for review on the day of surgery. Presumably it's in their system someplace where they can get that result. Here. Can I show you my copy?

23:56:44 19

23:56:44 20

Q. I think I have it.

MS. TAYLOR-KOLIS: He's got the same one.

23:56:46 21

23:57:06 22

23:57:08 23

23:57:12 24

23:57:12 25

THE WITNESS: Okay.

Q. (BY MR. RISPO) That same record that you're referring to has a handwritten note below it.

A. I don't have that.

Q. You don't have that one?

23:57:14 1 A. Yes, and I -- I -- I've seen two of them.  
23:57:18 2 I've got one without the handwritten note and one  
23:57:20 3 with the handwritten note, so I'm not quite sure  
23:57:20 4 what's going on there.

23:57:22 5 Q. Okay. I don't know either, but the one  
23:57:24 6 I'm looking at in Dr. Bartulica's chart indicates it  
23:57:26 7 was received 8-10-99.

23:57:26 8 A. Well, --

23:57:28 9 MS. TAYLOR-KOLIS: At his office.

23:57:30 10 Q. (BY MR. RISPO) I -- I presume that means  
23:57:32 11 at his office.

23:57:32 12 A. Okay.

23:57:36 13 Q. Okay. Do you have any information to  
23:57:42 14 suggest that Dr. Celerio saw the final read on this?

23:57:50 15 A. I don't think he did, actually. I'm not  
23:57:56 16 sure. He makes no -- I can look through his  
23:58:00 17 deposition. I think he -- in fact, he never looked  
23:58:08 18 at the chest x-ray. Okay.

23:58:32 19 Q. Is there any indication that the  
23:58:34 20 radiologist called either Dr. Bartulica or  
23:58:42 21 Dr. Richardson or Dr. Celerio to report what he found  
23:58:48 22 as cardiomegaly?

23:58:52 23 A. No. I don't think that's his -- he -- it  
23:58:56 24 was dictated in a timely fashion and put on their  
23:59:00 25 system and available for all to peruse. I presume

23:59:04 1 they have a system like they had when I was an intern  
23:59:08 2 where you dial a number and --

23:59:18 3 Q. Remember, we're talking about a small  
23:59:18 4 community hospital here, Doctor.

23:59:20 5 MS. TAYLOR-KOLIS: They don't have  
23:59:22 6 this system.

23:59:28 7 Q. (BY MR. RISPO) Would it be fair then to  
23:59:30 8 say, Doctor, that Dr. Celerio was not aware of  
23:59:38 9 cardiomegaly before the surgery? Correct?

23:59:44 10 A. If indeed that's all he saw was a wet  
23:59:48 11 read, that is true.

23:59:48 12 Q. Would it be fair to say that he was  
23:59:50 13 operating on the assumption that the EKG reflected a  
23:59:52 14 remote MI?

00:00:04 15 A. Unclear whether he thought it -- how  
00:00:08 16 remote it was. That's not detailed in his  
00:00:10 17 discussion. In fact, in his discussion he writes on  
00:00:18 18 page 94 --

00:00:20 19 MS. TAYLOR-KOLIS: Of his  
00:00:20 20 deposition.

00:00:20 21 A. -- of his deposition, "Question: The  
00:00:24 22 patient can have an MRI," this is by Donna, "The  
00:00:30 23 patient can have an MRI, you not know how old it is,  
00:00:32 24 that would be a contraindication?" That's a question  
00:00:38 25 mark. "It is a contrain -- contraindication if you

00:00:40 1 ask the patient if they have a history of MI and they  
00:00:44 2 say no."

00:00:48 3 Q. (BY MR. RISPO) Let's assume that the  
00:00:50 4 patient reported to Dr. Celerio that she did not have  
00:00:54 5 a myocardial infarction. Would that be an  
00:01:00 6 accurate --

00:01:00 7 A. That's true.

00:01:00 8 Q. -- interpretation of the record?

00:01:02 9 A. And his answer is "It is a  
00:01:04 10 contraindication if you ask a patient if they have a  
00:01:08 11 history of MI and they say no."

00:01:08 12 Q. Okay. You're assuming that the  
00:01:10 13 transcript is accurate?

00:01:12 14 A. Well, I have to assume that.

00:01:14 15 Q. Okay.

00:01:22 16 A. And either way, when you don't -- when  
00:01:26 17 you don't have a clear idea of the remoteness of an  
00:01:30 18 MI in the perioperative period, you've got to figure  
00:01:36 19 that out because if you take somebody to surgery  
00:01:38 20 within the first six weeks of an MI, their risk goes  
00:01:42 21 way up.

00:01:44 22 Q. Would it be a fair assumption then, also,  
00:01:46 23 Doctor, from your understanding of the record, that  
00:01:52 24 Dr. Celerio was informed by Dr. Bartulica that the  
00:01:58 25 patient had been cleared for surgery by



00:02:00 1

Dr. Richardson?

00:02:12 2

A. Did he say those exact words. I don't

00:02:16 3

think he said those exact words. Do you know where

00:02:30 4

in his -- in his deposition he says that?

00:02:32 5

Q. I don't have the citation offhand.

00:02:34 6

A. Okay.

00:02:36 7

Q. I'm just asking you what is your

00:02:36 8

understanding.

00:02:38 9

MS. TAYLOR-KOLIS: What do you

00:02:38 10

remember from reading the deposition?

00:02:40 11

A. I don't remember him saying that exactly.

00:02:42 12

And, as I've said before, and you've heard me say

00:02:46 13

this before, the final decision rests with

00:02:50 14

Dr. Celerio on what's appropriate.

00:02:54 15

Q. (BY MR. RISPO) Let's take that for the

00:02:54 16

moment. The final decision --

00:02:58 17

MS. TAYLOR-KOLIS: I'm sorry. I'm

00:03:00 18

just teaching him. There's an index in the back.

00:03:02 19

That was easy.

00:03:04 20

THE WITNESS: Okay.

00:03:04 21

Q. (BY MR. RISPO) Have you found

00:03:04 22

something --

00:03:04 23

A. Yes.

00:03:06 24

Q. -- in the deposition? What have you

00:03:06 25

found?

00:03:08 1 A. No. All I heard was the patient was  
00:03:10 2 cleared by Dr. Richardson.  
00:03:12 3 Q. Okay. And that is on page what?  
00:03:14 4 A. Sixty-two, line 11 and 12.  
00:03:16 5 Q. Okay. So would it be fair then to  
00:03:20 6 assume, based on that deposition testimony and the  
00:03:24 7 records as you -- as you understand them, that  
00:03:26 8 Dr. Celerio was informed that the patient had been  
00:03:30 9 cleared for surgery by Dr. Celerio?  
00:03:34 10 A. No. By Dr. Richardson you mean?  
00:03:38 11 Q. I'm sorry. By Cr. Richardson. Thank you  
00:03:40 12 for the correction.  
00:03:40 13 A. That's what it says here. Dr. Richardson  
00:03:46 14 doesn't clear the patient for surgery.  
00:03:48 15 Q. Is that what the deposition transcript  
00:03:50 16 says?  
00:03:52 17 A. No, no. Sorry. That's my comment on top  
00:03:54 18 of that.  
00:03:56 19 Q. All right. Let's, first of all, make  
00:03:56 20 sure our record is complete and accurate. What does  
00:04:00 21 the deposition say?  
00:04:00 22 A. The deposition -- deposition says, "No.  
00:04:02 23 All I heard was the patient was cleared by  
00:04:04 24 Dr. Richardson."  
00:04:06 25 Q. Okay. Now, you -- you quarrel with the

00:04:08 1 use of the word clear, and I think, for the record,  
00:04:12 2 we want to be sure what you're saying is that the  
00:04:18 3 evidence is that Dr. Richardson had no objection to  
00:04:20 4 the patient provide -- proceeding to surgery. Is  
00:04:30 5 that your understanding?

00:04:32 6 A. No, I don't know that. There's nothing  
00:04:34 7 in Dr. Richardson's record that supports that. All I  
00:04:38 8 know is what is said secondhand in this transcript,  
00:04:44 9 so --

00:04:46 10 Q. We also know what is said in  
00:04:48 11 Dr. Bartulica's chart, don't we?

00:04:50 12 A. Right, but we -- we don't have any direct  
00:04:52 13 evidence that Dr. Richardson ever said that.

00:04:54 14 Q. But we have a record in Dr. Bartulica's  
00:04:58 15 chart which says, "Dr. Richardson," colon, "okay for  
00:05:00 16 surgery per patient."

00:05:00 17 A. "Per patient."

00:05:02 18 Q. And then another note in the same page --  
00:05:04 19 on the same page, "Call to Dr. Richardson. Cleared  
00:05:08 20 for surgery with above." Above referring to the  
00:05:12 21 Lovenox?

00:05:12 22 A. Right. So --

00:05:16 23 Q. So if Dr. Bartulica told Dr. Celerio what  
00:05:24 24 occurs or is written in his chart, Bartulica's chart,  
00:05:30 25 then Dr. Celerio would be fair in assuming that

00:05:36 1 Dr. Richardson had no objection to the patient  
00:05:38 2 proceeding to surgery?

00:05:44 3 A. I would assume, from a family practice  
00:05:46 4 standpoint, that would be true, if, in fact, that --  
00:05:50 5 that were the -- a statement of fact.

00:05:50 6 Q. Okay.

00:05:52 7 A. I'd be much more comfortable if  
00:05:54 8 Dr. Richardson had a note to that effect. But it  
00:05:58 9 doesn't change that the primary responsibility for  
00:06:00 10 making the decision to go to surgery is with the  
00:06:04 11 surgeon and the anesthesiologist.

00:06:06 12 Q. What you're saying is there's -- there  
00:06:08 13 should have been a note in Dr. Richardson's chart  
00:06:12 14 indicating that he cleared the patient for surgery?

00:06:14 15 A. Well, it -- that doesn't -- to  
00:06:18 16 document --

00:06:18 17 Q. If, in fact, he did.

00:06:20 18 A. To document that -- that conversation,  
00:06:20 19 that would have been nice, but we've gone over the --  
00:06:24 20 the issue of clearing or not clearing. The final  
00:06:26 21 responsibility rests with the anesthesiologist and  
00:06:30 22 the surgeon.

00:06:30 23 Q. Okay. I -- I'm sorry I keep going back  
00:06:32 24 to the word "clearing," but I didn't intend to  
00:06:36 25 confuse the record. What you would prefer to see is,

00:06:40 1 if Dr. Richardson had a conversation with  
00:06:42 2 Dr. Bartulica concerning the prospect for surgery,  
00:06:48 3 that fact should have been noted in his record?

00:06:50 4 A. Yes, it would have been nice.

00:06:52 5 Q. And it was not?

00:06:54 6 A. I couldn't find it.

00:06:54 7 Q. Okay. Would Dr. Celerio have a right to  
00:07:08 8 rely upon the information provided to him by  
00:07:14 9 Dr. Bartulica if, in fact, Dr. Bartulica told him  
00:07:18 10 that he spoke to Dr. Richardson and Richard --  
00:07:22 11 Dr. Richardson had no objection to the patient  
00:07:24 12 proceeding to surgery'?

00:07:28 13 A. I -- I think that would be reasonable.  
00:07:36 14 I -- I would be more comfortable if these gentlemen  
00:07:40 15 had an established practice pattern where, in fact,  
00:07:44 16 they talk to each other all the time about their  
00:07:48 17 surgical patients, et cetera, et cetera, so the  
00:07:52 18 expectations of what anesthesiologists and surgeons  
00:07:56 19 need from a family practitioner are clear in terms of  
00:08:00 20 what they really need to know to make sure the  
00:08:04 21 patient is safe. The problem lies with you can't --  
00:08:24 22 you can't just get a blanket clearing of a patient  
00:08:26 23 for surgery without knowing their complete history  
00:08:30 24 because you can't get -- give good informed consent.  
00:08:34 25 You can't tell the patient what their risks are if

00:08:38 1 you don't know what the risks are yourself.

00:08:40 2 Q. You -- you don't call the attending

00:08:42 3 physician yourself on every patient; do you?

00:08:46 4 A. No. When I have worries -- you -- you

00:08:48 5 mean the surgeon?

00:08:50 6 Q. As -- as an anesthesiologist, you don't

00:08:52 7 call the primary care physician to discuss the

00:08:56 8 patient's history in every case; do you?

00:08:58 9 A. No, I do not. When I have -- when I have

00:09:02 10 things before me that need answering and I need

00:09:06 11 further answers that can be resolved by the primary

00:09:10 12 care physician, I will call them or search out their

00:09:14 13 records or have somebody call them to get additional

00:09:16 14 records. If I'm still in question, and this is an

00:09:22 15 elective case, then I seek further consultation and

00:09:26 16 appropriate risk stratification for the patient.

00:09:52 17 Q. Can we agree then that there was no

00:10:18 18 information provided to Dr. Celerio by Dr. Bartulica

00:10:22 19 or Dr. Richardson which would indicate there was an

00:10:30 20 absolute contradict -- contraindication for surgery?

00:10:34 21 A. That's true, but he didn't need any more

00:10:36 22 information than was before him. Can I elaborate?

00:10:50 23 Q. At the appropriate time. Just a minute.

00:10:54 24 Can we agree that there's no evidence that

00:10:56 25 Dr. Celerio spoke with either the radiologist or

00:11:02 1 Dr. Richardson?

00:11:04 2 A. I agree. That's true.

00:11:06 3 Q. Can we agree that Dr. Celerio did not see

00:11:10 4 the final reading of the x-ray films?

00:11:16 5 A. I don't know that to be true. I -- I

00:11:18 6 certainly -- in his testimony it -- it -- it sounds

00:11:22 7 like he read the wet read --

00:11:22 8 Q. Okay.

00:11:26 9 A. -- as you guys call it.

00:11:26 10 Q. All right. At least there's no evidence

00:11:28 11 in the record --

00:11:28 12 A. That's right.

00:11:28 13 Q. -- to indicate that he saw --

00:11:28 14 A. Exactly.

00:11:30 15 Q. -- the final?

00:11:32 16 A. Exactly.

00:11:32 17 Q. Okay.

00:11:32 18 A. I don't know when it made it to the

00:11:36 19 record. It was clearly dictated in time and

00:11:38 20 transcribed in time for it to be in the record.

00:11:40 21 Q. Can we agree that the patient told

00:11:42 22 Dr. Celerio that she never had an MI?

00:11:46 23 A. That's true.

00:11:50 24 Q. Is it reasonable for Dr. Celerio to rely

00:11:54 25 upon the patient's history?

00:11:56 1 A. It is reasonable. In the past she has  
00:12:04 2 been a good historian. Her -- in previous admissions  
00:12:10 3 and other treatments the same things come up,  
00:12:14 4 shortness of breath, dyspnea on exertion, orthopnea.  
00:12:20 5 Q. The last topic we need to cover  
00:12:24 6 preoperatively, Doctor, is signs and symptoms of  
00:12:26 7 cardiac decompensation. I think you mentioned them  
00:12:30 8 on the first page of your report, --  
00:12:30 9 A. Yes.  
00:12:32 10 Q. -- at least your final report. I don't  
00:12:34 11 know where that appears in your original report.  
00:12:40 12 MS. TAYLOR-KOLIS: It doesn't  
00:12:42 13 because I subsequently got these records, I think.  
00:12:44 14 MR. RISPO: Oh, okay.  
00:12:48 15 Q. (BY MR. RISPO) Doctor, what, in your  
00:12:50 16 understanding, are the signs and symptoms of the --  
00:12:52 17 of cardiac decompensation that were in the hospital  
00:13:00 18 charts as distinguished from Dr. Richardson's charts?  
00:13:04 19 A. As distinguished from Dr. Richardson's  
00:13:08 20 charts?  
00:13:08 21 Q. Right.  
00:13:10 22 MS. TAYLOR-KOLIS: He's asking what  
00:13:10 23 is in the hospital chart PAT or the day of surgery,  
00:13:10 24 what --  
00:13:16 25 THE WITNESS: Oh, okay. Fine.



00:13:16 1 A. In her -- the preanesthetic  
00:13:20 2 self-assessment, the patient describes orthopnea,  
00:13:30 3 let's see, short of breath with normal activity, so  
00:13:34 4 dyspnea on exertion, peripheral edema, and previous  
00:13:46 5 cardiac evaluation.

00:13:54 6 Q. (BY MR. RISPO) Any other record that  
00:13:56 7 would indicate de -- signs and symptoms of cardiac  
00:14:00 8 decompensation?

00:14:04 9 A. Her chest x-ray and her ECG.

00:14:06 10 Q. Now, you're talking about the final read,  
00:14:08 11 right?

00:14:10 12 A. No, the chest x-ray, the plastic  
00:14:12 13 celluloid piece of film itself, and her ECG which  
00:14:16 14 is -- this is an entirely abnormal rhythm.

00:14:20 15 Q. Okay.

00:14:24 16 A. So we have these symptoms -- Dr. Celerio  
00:14:28 17 doesn't know about any of this. In -- in his  
00:14:30 18 testimony he says he didn't know she had a cardiac  
00:14:30 19 problem.

00:14:32 20 Q. Okay.

00:14:32 21 A. It's all over the testimony.

00:14:34 22 Q. We -- we know that he didn't look at the  
00:14:36 23 original films.

00:14:38 24 A. That's right. Okay?

00:14:38 25 Q. Okay.

00:14:38 1 A. But what's before him is a patient that  
00:14:42 2 describes these signs and symptoms of cardiac  
00:14:46 3 decompensation. He's never seen her ECG before, and  
00:14:50 4 then she sees this -- he sees this ECG with a big  
00:14:52 5 anterior -- with anterior Q waves.

00:14:52 6 Q. Uh-huh.

00:14:56 7 A. That's a huge red flag.

00:14:58 8 Q. Okay. Doctor, these signs and symptoms  
00:15:00 9 that you've described, there are five of them other  
00:15:02 10 than the chest x-ray films, are they equally signs  
00:15:06 11 and symptoms of other conditions?

00:15:10 12 A. Are they specific?

00:15:12 13 Q. I guess another way of putting it, are  
00:15:14 14 they diagnostic of cardiac decompensation, either  
00:15:20 15 individually or collectively?

00:15:20 16 A. Collectively, I would say they -- they  
00:15:22 17 are. I wouldn't say individually. Certainly  
00:15:26 18 orthopnea, dyspnea, dyspnea on exertion, peripheral  
00:15:32 19 edema, all would suggest cardiac decompensation.

00:15:38 20 Q. You said "suggest." Is consistent with,  
00:15:42 21 in other words?

00:15:44 22 A. Yes, strongly consistent with. It's --  
00:15:46 23 it's enough that you -- you go and you work  
00:15:48 24 somebody -- if somebody presents to you the first  
00:15:48 25 time with those symptoms, you work them up.

00:15:54 1 Q. Isn't it a fact --

00:15:56 2 A. Can I pause for a second and get some  
00:15:58 3 coffee?

00:16:00 4 Q. Sure.

00:16:00 5 A. Thanks.

00:16:00 6 Q. If you can answer this while you're  
00:16:02 7 getting coffee, Doctor, isn't it a fact that the  
00:16:06 8 admitting history and physical had no reference to  
00:16:12 9 heart disease or a subjective complaint of dyspnea --

00:16:16 10 A. The immediate history and physical by  
00:16:16 11 Dr. Bartulica's office which would be --

00:16:20 12 Q. Actually, in the hospital chart is what  
00:16:22 13 I'm talking about.

00:16:22 14 A. That would be Dr. Bartulica's admitting  
00:16:26 15 history and physical. Where would that be?

00:16:44 16 Q. I'm going to hand to you what I  
00:16:46 17 understood to be his -- the admitting history which  
00:16:50 18 is on pages 5, 6, and 7 of the chart.

00:16:58 19 A. Yes, that's it.

00:16:58 20 Q. Okay. Now that you have it in front of  
00:17:02 21 you, is it true that the admitting history has no  
00:17:06 22 record of history of heart disease or leg edema or a  
00:17:14 23 suggestive complaint of dyspnea?

00:17:16 24 A. No, that's not true. Let me go into his  
00:17:20 25 review of systems. General -- let me find this in my

00:17:28 1 own records. 006. History and physical. No,  
00:18:12 2 nothing specifically for cardiovascular. The only  
00:18:16 3 reference is for the decrease in breath sounds at  
00:18:20 4 base and the pulmonary issues which is checked.

00:18:24 5 Q. So there's no record of heart disease --

00:18:26 6 A. No.

00:18:28 7 Q. -- or dyspnea?

00:18:30 8 A. So it makes you wonder if he -- if he  
00:18:36 9 actually -- now, where is -- here is the review of  
00:18:38 10 systems. There are two review of systems. What is  
00:18:42 11 this from?

00:18:44 12 MS. TAYLOR-KOLIS: One is from -- I  
00:18:46 13 don't want to testify.

00:18:46 14 A. No, this is all the same, review of  
00:18:50 15 systems. Do you have a page 8 on yours?

00:18:54 16 Q. (BY MR. RISPO) I do, and I don't know  
00:18:56 17 how that fits in myself.

00:18:58 18 A. And so -- it does have shortness of  
00:19:02 19 breath here, it does have palpitations, and so I  
00:19:04 20 don't know if this is additional review of systems --  
00:19:14 21 no, this is the physical exam. Sorry. That's the  
00:19:16 22 physical exam. This is the review of systems. So,  
00:19:18 23 in fact, it does based on the review of systems.

00:19:24 24 Q. But the physical exam itself does not?

00:19:26 25 A. No, which -- well, other than the

00:19:30 1 decreased breath sounds. So they did not auscultate  
00:19:36 2 an S<sub>3</sub> or something like that. But the review of  
00:19:38 3 systems is consistent with the review of systems  
00:19:40 4 previously obtained.

00:19:42 5 Q. Okay. And is it not true that the  
00:19:44 6 patient denied a history of cardiovascular problems  
00:19:48 7 in the admitting history and in the nursing  
00:19:52 8 assessment?

00:19:56 9 A. No. The admitting history under the  
00:20:00 10 review of systems, shortness of breath.

00:20:04 11 Q. I -- I'm sorry. I'm directing you --

00:20:06 12 A. Palpitations.

00:20:06 13 Q. -- to the nursing assessment.

00:20:08 14 A. Where is that? I haven't memorized this.

00:20:14 15 Q. Paragraph -- or pages 56, 7, 8, and 9, I  
00:20:20 16 believe.

00:20:20 17 A. Nursing notes. Can I see your copy? I'm  
00:20:26 18 sorry.

00:20:26 19 Q. Sure.

00:20:44 20 THE REPORTER: I need to hear you,  
00:20:44 21 Doctor, if you're --

00:20:44 22 MS. TAYLOR-KOLIS: He's mumbling  
00:20:46 23 while he looks. I'll try to keep him quite.

00:20:48 24 A. No. It -- under General for her family  
00:20:52 25 health history, now, I presume this to be her own,

00:20:56 1 cardiac and hypertension is checked right here.

00:21:04 2 Q. (BY MR. RISPO) Okay.

00:21:04 3 A. And then --

00:21:04 4 Q. What about cardiac history besides

00:21:08 5 hypertension?

00:21:08 6 A. Well, they're both checked. Hypertension

00:21:10 7 and cardiac are both checked. So I presume that to

00:21:12 8 be her -- her own history and not the -- or they'd

00:21:16 9 check off the other boxes.

00:21:20 10 Q. As distinguished from family history?

00:21:22 11 A. Yes, exactly.

00:21:26 12 Q. I'm having difficulty finding where you

00:21:28 13 were.

00:21:28 14 A. Right here. I'll show you. Right there.

00:21:32 15 Q. Okay. Oh, I see.

00:21:34 16 A. See. And so this is --

00:21:36 17 Q. That's under -- that's under the column

00:21:36 18 for Mother and Siblings?

00:21:38 19 A. No, no, no -- but we've got both checked

00:21:40 20 here, so I presumed that they were referring to her.

00:21:44 21 It may not be, but that's what I presumed.

00:21:46 22 Q. The top of that column says "Family

00:21:50 23 History," doesn't it?

00:21:50 24 A. It does.

00:21:50 25 Q. Okay. So everything below that column

00:21:54 1 would be ordinarily read to mean family history,  
00:21:56 2 wouldn't it?

00:21:56 3 A. It depends on how the form -- how they  
00:21:58 4 use the form.

00:21:58 5 Q. In -- in particular, when the next column  
00:22:00 6 is Mother, Father, Siblings, and Grandparents, then  
00:22:06 7 the logical reading of that would be individual  
00:22:10 8 members of the family, would it not?

00:22:14 9 A. That part of the form, yes.

00:22:14 10 Q. Okay.

00:22:16 11 A. I mean, --

00:22:16 12 Q. There is no -- any -- there is no point  
00:22:18 13 at any other part of the form which indicates a  
00:22:22 14 history of cardiac complications on the nursing  
00:22:26 15 assessment?

00:22:28 16 A. Not that I can see.

00:22:30 17 Q. Okay. So then Dr. Celerio couldn't find  
00:22:40 18 anything in the admitting history and physical or in  
00:22:44 19 the nursing history or -- assessment which would  
00:22:52 20 indicate the patient was having cardiac  
00:22:54 21 complications, and, in fact, the patient told her --  
00:23:02 22 Dr. Celerio that she had no previous heart attack?

00:23:06 23 A. That's right.

00:23:06 24 Q. Okay.

00:23:06 25 A. That's all he asked, "Have you ever had

00:23:10 1 an MI?" But in his own preadmission testing review  
00:23:16 2 of systems it's clear that she does describe those  
00:23:18 3 symptoms.

00:23:20 4 Q. Symptoms being shortness of breath on  
00:23:24 5 exertion?

00:23:24 6 A. Yes. That's --

00:23:26 7 Q. And --

00:23:26 8 A. -- page 16.

00:23:26 9 Q. And a previous cardiac workup?

00:23:28 10 A. That's right.

00:23:30 11 Q. Okay. In fact, she described the cardiac  
00:23:32 12 workup as negative, did she not?

00:23:34 13 A. Per her own -- her -- no, she described  
00:23:38 14 her cardiac cath as negative.

00:23:38 15 Q. Okay.

00:23:42 16 A. She had a more extensive workup, but that  
00:23:46 17 history was not elicited.

00:23:48 18 Q. Okay. Let's go on to postoperative or,  
00:23:50 19 let's say, intraoperative management, Doctor. The  
00:23:56 20 management of the code. Would you agree the records  
00:23:58 21 reflect that the arrest didn't occur until 12:02?

00:24:04 22 A. 12:02. I think, in fact, the arrest  
00:24:10 23 occurred at 11:55. Where is Dr. --

00:24:18 24 MS. TAYLOR-KOLIS: Here you go. I'm  
00:24:18 25 sorry.



00:24:20 1

THE WITNESS: Yes.

00:24:22 2

A. I think by Dr. Celerio's own admission it was at 11:55, and I'll have to check on that.

00:24:26 3

00:24:30 4

Q. (BY MR. RISPO) Well, I'm looking at your report of May 31st, 2001, and in your report you indicated that she arrested at 12:02.

00:24:30 5

00:24:36 6

00:24:40 7

A. No, I said the code was called at 12:02, and I think if you take me out of context that's probably true, but I think I said the code really occurred at -- at this point the patient was -- it was not until 12:03 that therapy was initiated.

00:24:46 8

00:24:50 9

00:24:54 10

00:24:58 11

00:25:04 12

Q. Well, in your --

00:25:06 13

A. And that's -- and so given -- I only have a -- a -- a birds-eye view of what went on. If Dr. Celerio said she coded at 11:55, I would think that he is right. He was there.

00:25:14 14

00:25:16 15

00:25:20 16

00:25:24 17

Q. Well, what happened at 11:55?

00:25:26 18

A. Her -- the bottom dropped out of her pressure.

00:25:28 19

00:25:28 20

Q. Okay. She didn't code, did she? She was still -- her heart was functioning, was it not?

00:25:32 21

00:25:40 22

A. She had a rhythm. It's not clear if she had a pulse. Well, she had a pulse, but her blood pressure was extremely low.

00:25:42 23

00:25:50 24

00:25:52 25

Q. In your report you quoted Dr. Celerio,

00:25:56 1 page 78, line 4 to 10, --

00:26:02 2 A. Seventy-eight, line 4 to 10. Page 78,  
00:26:06 3 line -- okay.

00:26:08 4 Q. -- and you said that quotation in the  
00:26:12 5 record would support his understanding that she  
00:26:16 6 arrested at 12:02.

00:26:40 7 A. Uh-huh.

00:26:40 8 Q. Okay. So now that --

00:26:42 9 A. That's when the code was actually called.  
00:26:46 10 That's -- that's when, in fact, he asked for help.

00:26:48 11 Q. Well, you said in your report the arrest  
00:26:52 12 occurred at 12:02, --

00:26:52 13 A. Okay.

00:26:54 14 Q. -- so which -- which is correct? What  
00:26:56 15 you said in your report?

00:27:06 16 A. Well, from his anesthetic records I  
00:27:12 17 can -- I would -- I would think that it's -- let me  
00:27:22 18 look at the record. 12:02?

00:27:38 19 Q. Yes. Is that correct?

00:27:40 20 A. Yes.

00:27:40 21 Q. Okay. And ephedrine was administered one  
00:27:48 22 minute after she arrested, was it not?

00:27:50 23 A. That's correct.

00:27:52 24 Q. You criticized Dr. Celerio, nevertheless,  
00:27:54 25 indicating that in your view ephedrine should have

00:27:58 1 been administered earlier?

00:28:02 2 A. That's correct. Her blood pressure was

00:28:04 3 already -- already falling at -- earlier than that.

00:28:10 4 Q. Does the standard of care require

00:28:14 5 administrations of ephedrine before an arrest?

00:28:16 6 A. No, but to maintain normal physiological

00:28:20 7 parameters it does.

00:28:20 8 Q. Okay.

00:28:22 9 A. So you don't wait until everybody

00:28:28 10 arrests. You -- you -- as their blood pressure

00:28:30 11 drops, you try to treat that before they arrest.

00:28:32 12 Q. Okay. What 'treatment, if any, did

00:28:36 13 Dr. Celerio employ as the blood pressure was

00:28:40 14 dropping?

00:28:40 15 A. He used solely ephedrine

00:28:44 16 Q. Okay. Is ephedrine effective to improve

00:28:48 17 blood pressure?

00:28:50 18 A. in some situations it is. Not in code

00:28:54 19 situations. It's not a typical ACLS kind of -- it's

00:28:58 20 not even in ACLS.

00:29:00 21 Q. Well, she didn't code until 12:02, did

00:29:04 22 she?

00:29:04 23 A. Right.

00:29:04 24 Q. so --

00:29:04 25 A. He gave ephedrine and it didn't work.

00:29:12 1 Q. Was there anything wrong with giving  
00:29:14 2 ephedrine?  
00:29:20 3 A. The problem is, in fact, in this  
00:29:24 4 situation, with her undergoing pathophysiology,  
00:29:30 5 ephedrine is not going to help.  
00:29:32 6 Q. Underlying pathophysiology including her  
00:29:34 7 amyloidosis?  
00:29:34 8 A. And her cardiomegaly --  
00:29:36 9 Q. And her cardiomegaly.  
00:29:36 10 A. -- in -- in particular.  
00:29:40 11 Q. Neither of which were known to  
00:29:40 12 Dr. Celerio at the time of these events?  
00:29:44 13 A. That's correct.  
00:29:46 14 Q. Okay. So if this patient had been an  
00:29:50 15 average healthy patient, ephedrine would have been  
00:29:52 16 effective?  
00:29:54 17 A. I would agree with that.  
00:29:54 18 Q. Okay. So administering ephedrine, in and  
00:29:58 19 of itself, was not a breach of the standard of care?  
00:30:06 20 A. But we don't have an average healthy  
00:30:10 21 patient here.  
00:30:10 22 Q. Okay. But for the fact that she had  
00:30:12 23 cardiomegaly and amyloidosis, --  
00:30:18 24 A. Well, we didn't know any of that.  
00:30:18 25 Q. We -- we didn't know that. I know --

00:30:20 1 A. All we know is she had big Q waves in her  
00:30:24 2 anterior leads and --

00:30:28 3 Q. Okay. But if -- I'm asking you now,  
00:30:28 4 knowing what we know now from hindsight, if she had a  
00:30:34 5 normal cardiac silhouette, no cardiomegaly, in other  
00:30:38 6 words, and did not have the underlying condition of  
00:30:40 7 amyloidosis, would you expect ephedrine to be  
00:30:46 8 effective to improve her blood pressure?

00:30:48 9 A. I would. That's absolutely true.

00:30:52 10 Q. In addition to the ephedrine, did he  
00:30:56 11 utilize other cardiac drugs to rescue the patient or  
00:31:06 12 in an attempt to rescue the patient?

00:31:08 13 A. He utilized several interesting cardiac  
00:31:12 14 drugs during the issuance of the code, ephedrine,  
00:31:20 15 fentanyl, which I don't fully understand, and  
00:31:28 16 atropine.

00:31:30 17 Q. Okay, Is there anything wrong with any  
00:31:34 18 one of those individual drugs?

00:31:36 19 A. Absolutely, fentanyl. So, according to  
00:31:40 20 his record, from 1210 on through 1300 he delivered --  
00:31:52 21 it's hard to tell.

00:32:04 22 Q. I'm not sure I understood your answer.  
00:32:06 23 Are you saying that any one of those medications were  
00:32:10 24 inappropriate?

00:32:12 25 A. The fentanyl was inappropriate, fully

00:32:16 1 inappropriate.

00:32:16 2 Q. Why is that?

00:32:18 3 A. Because it's a cardio depressant. Well,

00:32:20 4 in this situation what it will do is drop her preload

00:32:26 5 because of its sympatholytic actions.

00:32:32 6 Q. Were all the other medications

00:32:34 7 appropriate?

00:32:40 8 MS. TAYLOR-KOLIS: Do you mean

00:32:40 9 during the code?

00:32:42 10 MR. RISPO: Yes.

00:32:44 11 MS. TAYLOR-KOLIS: Okay.

00:32:44 12 A. I think it's -- it's reasonable to give a

00:32:48 13 patient atropine.

00:32:52 14 Q. (BY MR. RISPO) And the other medications

00:32:52 15 as well?

00:32:54 16 A. The other medications were not given by

00:32:58 17 Dr. Celerio. They were directed by Dr. Trocio, I

00:33:02 18 think that's how you pronounce his name, and I could

00:33:04 19 use a break.

00:33:04 20 Q. Okay. That's fine. Let's do that.

00:33:06 21 We'll take a short break.

00:33:06 22 A. Thank you.

00:39:18 23 (Recess taken.)

00:39:18 24 Q. (BY MR. RISPO) Okay. Doctor, before we

00:39:24 25 broke you referred to a medication given during the

00:39:28 1 code which you objected to, and I can't find in the  
00:39:32 2 record where that medication was given. Can you  
00:39:34 3 point to me in the record where and when that  
00:39:40 4 was --  
00:39:48 5 A. I stand corrected. Here. Okay.  
00:39:58 6 Q. You're pointing to --  
00:39:58 7 A. This chart here, but, in fact -- I stand  
00:40:00 8 corrected. This is -- I saw this last night and I  
00:40:04 9 couldn't figure it out. This is atropine -- I -- I  
00:40:08 10 presume this is atropine sulfate, so this is the  
00:40:10 11 atropine that he actually gave -- that was given.  
00:40:14 12 Q. So it's not --  
00:40:14 13 A. It's not fentanyl.  
00:43:14 14 Q. Okay. So --  
00:40:16 15 A. So I stand corrected.  
00:40:16 16 Q. Would it be accurate to say, then, that  
00:40:18 17 you do not criticize the use of any of the  
00:40:22 18 medications that were used during the code?  
00:40:26 19 A. No, I have criticisms of these  
00:40:28 20 medications. There were ineffective.  
00:40:30 21 Q. Well, they were ineffective, --  
00:40:32 22 A. Okay. And -- and -- and --  
00:40:32 23 Q. -- but you don't -- you don't feel that  
00:40:32 24 they were inappropriate; do you?  
00:40:36 25 A. Inappropriate in this situation, yes.

00:40:38 1 Q. Well, they were -- they were  
00:40:40 2 inappropriate perhaps in -- to the extent that they  
00:40:44 3 weren't sufficient to overcome the patient's  
00:40:46 4 underlying condition, but in the average healthy  
00:40:50 5 patient these medications would be appropriate, would  
00:40:54 6 they not?

00:40:54 7 A. As a first -- as a first go around,  
00:40:58 8 absolutely.

00:40:58 9 Q. Okay.

00:40:58 10 A. But failing that, as this happened, then  
00:41:02 11 you would escalate. Ephedrine is like light beer  
00:41:10 12 compared to a more powerful drug like epinephrine  
00:41:12 13 which would be more appropriate --

00:41:12 14 Q. Okay.

00:41:14 15 A. -- in this dire situa -- situation.

00:41:16 16 Q. Okay. Let's -- let's break the question  
00:41:18 17 apart then. First of all, assuming we had a normal  
00:41:20 18 healthy patient, the medications that were given here  
00:41:24 19 should have been sufficient to resuscitate the  
00:41:26 20 patient; is that correct?

00:41:28 21 A. That's correct, assuming they had  
00:41:28 22 appropriate volume status, they weren't dehydrated,  
00:41:32 23 or something like that.

00:41:34 24 Q. Now, in this case, given that the patient  
00:41:36 25 had an unknown preexisting condition, cardiomegaly



00:41:42 1 and --

00:41:44 2 A. It wasn't unknown though.

00:41:46 3 Q. Well, it was unknown to Dr. Celerio.

00:41:50 4 A. All the stuff is in front of his face.

00:41:54 5 The ECG -- he didn't synthesize the information. The

00:41:58 6 information is available.

00:42:00 7 Q. Okay. But he didn't see the final read

00:42:04 8 of cardiomegaly in the EKG, I'm sorry, in the x-ray?

00:42:08 9 A. That's correct.

00:42:08 10 Q. Okay.

00:42:10 11 A. He didn't --

00:42:12 12 Q. And no one -- and no one knew that she

00:42:12 13 had amyloiditis (SIC)?

00:42:12 14 A. Amyloidosis?

00:42:16 15 Q. Amyloi -- amyloidosis.

00:42:16 16 A. That's correct. Nobody knew that.

00:42:18 17 Q. Now, given that she did have those two

00:42:22 18 conditions, it's your opinion that epinephrine should

00:42:26 19 have been used?

00:42:26 20 A. Epinephrine should have been tried in

00:42:30 21 volume loading and she should have been given two

00:42:32 22 liters of fluid within a period of 10 minutes.

00:42:36 23 Q. Okay. My next question is if she had

00:42:40 24 been given epinephrine in volume loading and two

00:42:46 25 liters of fluid, would she have survived this code

00:42:50 1 given her underlying conditions of amyloidosis and  
00:42:54 2 cardiomegaly?

00:43:00 3 A. Do you want my gut feeling? Do you want  
00:43:04 4 my --

00:43:04 5 Q. Do you have an opinion, to a degree of  
00:43:06 6 reasonable medical certainty, whether she would have  
00:43:10 7 survived?

00:43:14 8 A. I have -- SO-50. If you could have -- I  
00:43:20 9 think if you could have got the blood pressure up  
00:43:22 10 within a reasonable amount of time, her arrhythmia  
00:43:26 11 would have went away. So here's the problem with her  
00:43:30 12 condition. As I said before, her left ventricle was  
00:43:34 13 stiff. What happens when you give propofol is you  
00:43:38 14 drop the filling pressure of that left ventricle and  
00:43:42 15 so it doesn't work anymore. You solve that problem  
00:43:48 16 by taking a bunch of fluid to fill the pump up again,  
00:43:52 17 and you can sometimes support it with other pressors.  
00:43:56 18 And, obviously, I can't -- it's hard to tell whether  
00:44:02 19 she would have survived. What's my gut level  
00:44:08 20 feeling? I think she may have survived.

00:44:08 21 Q. Is that it?

00:44:10 22 A. If -- if -- if you could have reversed  
00:44:12 23 the hypotension within five minutes, I think she --  
00:44:18 24 she would have survived.

00:44:18 25 Q. Okay.

00:44:20 1           A.       But the problem is -- the problem is the  
00:44:24 2       ventricle got starved because her blood pressure  
00:44:28 3       dropped too low and it stayed down too low for too  
00:44:30 4       long. We have this thick ventricle that's not  
00:44:34 5       getting any blood so it got ischemic. People can  
00:44:38 6       tolerate ischemia, oh, you know, five, 10 minutes,  
00:44:42 7       and you can bring them back and they'll do okay, but  
00:44:44 8       if it lasts much longer than that, it's very  
00:44:50 9       difficult.

00:44:52 10           Q.       And drawing upon my recollection of your  
00:44:54 11       initial report, Doctor, your criticism of the  
00:44:58 12       preoperative anesthetic drugs for induction was  
00:44:58 13       directed to propofol?

00:45:04 14           A.       Propofol.

00:45:06 15           Q.       Do you have criticism of any other. drug  
00:45:08 16       that was used in the induction?

00:45:10 17           A.       I mean, that -- that's induction. I  
00:45:12 18       wouldn't call that preoperative. That's operative.  
00:45:16 19       Let's see. No, it's primarily the propofol which is  
00:45:22 20       the -- the -- I think is the bad actor here in that  
00:45:28 21       propofol, because of its -- each of our induction  
00:45:32 22       drugs, which there are four or five, have -- change  
00:45:38 23       the physiology of the patient a certain amount.  
00:45:40 24       Propofol drops your blood pressure by vasodilating  
00:45:40 25       the patient and it also directly inhibits myocardial

00:45:52 1 | contracture.

00:45:52 2           Q.       Your reference here, if I may quote, with

00:45:58 3       respect to side effects, is a decrease in contract --

00:45:58 4       contractility --

00:46:02 5           A.       That's right.

00:46:02 6           Q.       -- and peripheral vasodilation.

00:46:06 7           A.       I think that's what I just said.

00:46:06 8           Q.       And then you follow that with the

00:46:08 9       comment, "Both due to alterations in the calcium

00:46:12 10       handling by the corresponding cells." Could you

00:46:16 11       explain that?

00:46:18 12           A.       That's what we think is the mechanism --

00:46:20 13       the common mechanism for some of the actions of

00:46:28 14       propofol in that it inhibits calcium uptake by the

00:46:30 15       various myocytes and by the peripheral vasculature,

00:46:38 16       so the -- the smooth muscle, as well as cardiac

00:46:38 17       muscle, is inhibited in alterations in calcium

00:46:46 18       uptake. Calcium is in the contractile process.

00:46:50 19       It's -- it's instrumental in the contract --

00:46:52 20       contractile process.

00:46:54 21           Q.       Is that --

00:46:54 22           A.       Now -- and that's a -- that's -- that's

00:46:58 23       more basic science. The clinical experience is clear

00:47:04 24       that, and there's lots of literature on that, that

00:47:08 25       propofol drops your pressure dramatically,

00:47:12 1 particularly in patients with poor cardiac function,  
00:47:16 2 in patients that are volume depleted, because it  
00:47:20 3 drops your preload.

00:47:20 4 Q. Are -- are you indicating or explaining,  
00:47:22 5 and I'm not sure if I'm getting this right, that  
00:47:26 6 propofol interferes with the electrical conduction of  
00:47:32 7 the heart muscle?

00:47:32 8 A. No, it doesn't do that.

00:47:34 9 Q. What do you --

00:47:34 10 A. It's the -- it's the contraction of --  
00:47:40 11 your myofibrils, your muscle cells, not -- not the  
00:47:42 12 conduction, but your muscle cells, they need calcium  
00:47:46 13 to contract. It inhibits that contraction.

00:47:52 14 Q. Does amyloidosis also inhibit the calcium  
00:47:56 15 contractility of the muscles?

00:47:58 16 A. Not that I know of. It replaces muscle  
00:48:02 17 as far as I know.

00:48:02 18 Q. Okay. So is the propofol inappropriate  
00:48:06 19 because of her amyloidosis or because of her meg --  
00:48:10 20 cardiomegaly or neither?

00:48:14 21 A. Propofol was inappropriate because she  
00:48:16 22 told Dr. Celerio, "I have shortness of breath. I get  
00:48:20 23 short of breath when I walk around. I sleep on two  
00:48:24 24 pillows," or three pillows, "I sleep on a bunch of  
00:48:28 25 pillows." And that all suggests, well, maybe this

00:48:30 1 heart muscle isn't as good as we think it is. And so  
00:48:34 2 you don't pick an induction drug that dings the heart  
00:48:38 3 muscle, that reduces contractility. We have -- we  
00:48:40 4 have other drugs that are more appropriate.

00:48:50 5 Q. Okay. I have -- I believe -- I believe  
00:49:10 6 I've concluded my questioning. At this point I'm  
00:49:14 7 going to turn it over to Mr. Farcione by telephone.

00:49:18 8 MR. RISPO: Joe, are you there?

00:49:28 9 MS. TAYLOR-KOLIS: Joe?

00:49:36 10 MR. FARCIONE: Yes. Can you hear  
00:49:36 11 me?

00:49:36 12 MR. RISPO: Hello, Joe?

00:49:36 13 EXAMINATION

00:49:36 14 BY MR. FARCIONE:

00:49:42 15 Q. I've only got a few questions. Doctor,  
00:49:44 16 can you hear me?

00:49:46 17 A. Yes, I can.

00:49:46 18 Q. Doctor, my name is Joe Farcione. I  
00:49:50 19 represent Dr. Bartulica in this lawsuit. I have a  
00:49:50 20 couple of questions for you. First of all, would you  
00:49:54 21 agree with me that surgery and anesthesia each have a  
00:49:58 22 role regarding the clearance of a patient for  
00:50:02 23 surgery?

00:50:02 24 A. Absolutely.

00:50:04 25 Q. They both need to give clearance from the

00:50:06 1 point of view of their particular specialty?

00:50:10 2 A. That's true.

00:50:10 3 Q. I'm sorry. I didn't hear the answer,

00:50:12 4 Doctor.

00:50:12 5 A. Yes, that's true.

00:50:18 6 MS. TAYLOR-KOLIS: Joe, --

00:50:18 7 MR. FARCIONE: Yes.

00:50:18 8 MS. TAYLOR-KOLIS: -- you're going

00:50:20 9 in and out. It could be our speaker phone.

00:50:22 10 MR. FARCIONE: Yes, I think it is

00:50:22 11 because I picked up the receiver on this. I'm not

00:50:26 12 talking through the speaker.

00:50:28 13 MS. TAYLOR-KOLIS: Okay.

00:50:28 14 MR. RISPO: We're doing pretty well

00:50:30 15 now so keep --

00:50:30 16 MS. TAYLOR-KOLIS: Keep going.

00:50:32 17 MR. FARCIONE: All right.

00:50:34 18 Q. (BY MR. FARCIONE) In this particular

00:50:34 19 case, Dr. Bartulica, being the gynecologist, needs to

00:50:38 20 give clearance from the viewpoint of a gynecologist,

00:50:44 21 correct?

00:50:44 22 A. Exactly. He's got to determine is this

00:50:46 23 patient going to be able to survive his surgical

00:50:50 24 intervention and that postoperative course that goes

00:50:54 25 along with that which includes his own estimations of

00:50:56 1 risk, for instance, the estimated blood loss.

00:50:56 2 Q. And Dr. Celerio was the anesthesiologist  
00:51:36 3 and he must give clearance --

4 MS. TAYLOR-KOLIS: Joe, Joe, you've  
5 got to start that sentence over because the court  
6 reporter didn't catch the first part of it.

7 MR. FARCIONE: Can you hear me now?

8 MS. TAYLOR-KOLIS: No, we can't hear  
9 you.

10 MR. FARCIONE: Can you hear me now?  
11 Hello?

12 MS. TAYLOR-KOLIS: Okay. We can  
13 hear you now.

14 MR. RISPO: Oh, I -- I think I know  
15 what the problem is, Joe. Any noise on -- on our end  
16 cuts you off, so we'll have to be more careful about  
17 avoiding noise on this end.

18 MR. FARCIONE: All right.

19 Q. (BY MR. FARCIONE) Dr. Celerio was the  
00:51:36 20 anesthesiologist and he must give clearance from the  
00:51:38 21 viewpoint of anesthesia, correct?

00:51:38 22 A. Correct.

00:51:40 23 Q. What may be important to one specialty,  
00:51:42 24 whether it's part of the history, the physical exam,  
00:51:46 25 x-ray interpretation, or lab results, that may not be



00:51:50 1 important to the other specialty in terms of  
00:51:52 2 clearance. Is that a fair statement?  
00:51:56 3 A. That's a fair state -- statement, but  
00:51:56 4 those issues are not necessarily mutually exclusive.  
00:52:00 5 Q. Right. There could be some overlap --  
00:52:00 6 A. Exactly.  
00:52:02 7 Q. -- and there may be some exclusions,  
00:52:04 8 correct?  
00:52:06 9 A. That's right.  
00:52:06 10 Q. Doctor, you mentioned earlier that you  
00:52:10 11 review chest x-rays before surgical procedures. Did  
00:52:12 12 I remember that correctly?  
00:52:14 13 A. In a -- in a subset of patients where I  
00:52:18 14 order them for specific reasons or there's issues  
00:52:20 15 related to that, yes.  
00:52:22 16 Q. That's what I wanted to get clarification  
00:52:24 17 on. You've -- you've mentioned several times the  
00:52:28 18 word, quote, "ultimate," unquote, as it relates to  
00:52:32 19 Dr. Celerio. What do you mean by that?  
00:52:34 20 A. Did I use ultimate?  
00:52:36 21 Q. Ultimate decision-maker I think is what  
00:52:38 22 you had said at one point.  
00:52:40 23 A. Okay. Well, independently, both the  
00:52:44 24 anesthesiologist and the surgeon have to make a  
00:52:48 25 decision about whether the patient is suitable, from

00:52:50 1 their own perspective, of whether they go to surgery  
00:52:52 2 or not. They cannot be cleared by a practitioner  
00:52:56 3 that's not involved in that procedure. Hello?

00:53:06 4 Q. I'm still trying to understand what you  
00:53:06 5 meant by ultimate decision-maker as far as  
00:53:08 6 Dr. Celerio is concerned. I'm not -- I'm not exactly  
00:53:12 7 clear what you mean by that answer.

00:53:14 8 A. I guess it -- it would have to be read  
00:53:16 9 back to me in context.

00:53:20 10 Q. There's no point in going back on that  
00:53:22 11 after three hours here.

00:53:24 12 A. Well, ultimately -- well, okay.

00:53:28 13 Q. Let me ask you this, Doctor. In your --  
00:53:30 14 in your report you use some pretty strong language as  
00:53:32 15 it relates to Dr. Celerio. You call him reckless and  
00:53:36 16 you even make reference to a referral to the State  
00:53:40 17 Medical Board to report him and his conduct in this  
00:53:44 18 case. What specifically about his conduct do you  
00:53:48 19 feel was reckless and something that should be  
00:53:52 20 reported to the State Medical Board?

00:53:54 21 A. It was taken in context with the  
00:54:02 22 deposition that he was -- that was taken. It was  
00:54:02 23 clear from the deposition that not alone did he not  
00:54:06 24 have a bad outcome in this case, but his basic  
00:54:12 25 understanding of the practice of anesthesiology is

00:54:18 1 very circumscribed, and so --

00:54:22 2 Q. What basic understanding are you  
00:54:24 3 referring to?

00:54:26 4 A. His basic understanding in terms -- in  
00:54:28 5 terms of what the risks are associated with  
00:54:32 6 perioperative MI's, what are -- he makes reference to  
00:54:44 7 anesthetic agents as if they're all equal. He  
00:54:48 8 doesn't understand the significance of benign  
00:54:54 9 meningioma, et cetera, et cetera.

00:55:04 10 Q. Any other examples that come to mind?

00:55:06 11 A. Pardon me?

00:55:06 12 Q. Any other examples that come to mind?

00:55:10 13 A. Let me go through his deposition.

00:55:14 14 Q. There's no need to do that, Doctor. I'm  
00:55:16 15 just looking to see what comes to mind right now, and  
00:55:18 16 if there's nothing else, then that's fine.

00:55:20 17 A. Okay. Well, and that -- his phrase --  
00:55:30 18 for instance, he makes reference to ECG. If we see  
00:55:30 19 an ECG like this and the patient never -- says they  
00:55:40 20 never had an MI, then they're okay, and I'm  
00:55:42 21 paraphrasing him. That's clearly not in -- in step  
00:55:46 22 with the current medical practice, and I think it  
00:55:50 23 reflects on his punctuated or discontinuous training.

00:56:02 24 Q. Discontinuous training meaning what,  
00:56:02 25 Doctor?

00:56:04 1 A. His CV is -- is -- it's really hard to  
00:56:08 2 follow his medical career.  
00:56:14 3 Q. That's all I have, Doctor.  
00:56:14 4 A. Okay.  
00:56:16 5 MR. RISPO: Doctor, you have the  
00:56:18 6 right to review the transcript of this deposition  
00:56:20 7 before it's used at the time of trial, not to change  
00:56:22 8 it, but to review it and make any corrections that  
00:56:26 9 might have occurred if the court reporter missed a  
00:56:28 10 statement. You have the option, however, to waive.  
00:56:32 11 It's entirely fine with us either way, but we have to  
00:56:36 12 ask you on the record what is your preference.  
00:56:38 13 MS. TAYLOR-KOLIS: Counsel advises  
00:56:40 14 that you read.  
00:56:40 15 THE WITNESS: Fine.  
00:56:42 16 MR. RISPO: Okay. The record will  
00:56:42 17 so reflect, and we'll conclude at this point.  
18 (Deposition concluded.)  
19  
20  
21  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CORRECTIONS AND SIGNATURE

PAGE	LINE	CORRECTION	REASON FOR CHANGE
------	------	------------	-------------------


I, **KENNETH GEORGE SMITHSON, D.O., Ph.D.**, have read the foregoing deposition and hereby affix my signature that same is true and correct, except as noted herein.

**KENNETH GEORGE SMITHSON, D.O., Ph.D.**

SUBSCRIBED AND SWORN to before me this the \_\_\_\_\_ day of \_\_\_\_\_, 2001.

NOTARY PUBLIC IN AND FOR THE  
STATE OF TENNESSEE

My commission expires: \_\_\_\_\_



DEPOSITION  
EXHIBIT

## CURRICULUM VITAE

Kenneth G. Smithson, D.O., Ph.D.

Assistant Professor  
Department Anesthesiology  
Vanderbilt University Medical Center  
Nashville, TN 37232-4125

1

### EDUCATIONAL EXPERIENCE

Michigan State University, E. Lansing, MI

B.S.

1982

Physical Sciences

Michigan State University, E. Lansing, MI

Ph.D.

1990

Physiology &  
Neuroscience

Michigan State University, E. Lansing, MI

D.O.

1991

### PROFESSIONAL EXPERIENCE

1991- 1992

Medical intern

Detroit Osteopathic Hospital

1992- 1993

Research Fellow

Division of Anesthesia Research  
Mayo Clinic

1993- 1996

Resident

Department of Anesthesiology,  
Mayo Clinic

1996- 1997

Clinical Fellow

Critical Care Medicine,  
Mayo Clinic

1997-present

Assistant Professor

Department of Anesthesiology  
Vanderbilt Univ.

1998-present

Director of Perioperative  
Medicine

Department of Anesthesiology  
Vanderbilt Univ.

### AWARDS AND HONORS

1984- 1991,

Fellowship from the Medical Scientist Training Program,  
College of Osteopathic Medicine, Michigan State University E.  
Lansing, MI 48824

1989

**Jack** Hoffert Memorial Award for Outstanding Contributions as a  
Graduate Student in the Department of Physiology, Michigan  
State University E. Lansing, MI 48824

1992

Research Fellowship, NIH training grant in the Division of  
Anesthesia Research, Mayo Clinic, Rochester MN 55905

## PROFESSIONAL SOCIETIES

American Society of Anesthesiologists  
International Anesthesia Research Society  
American College of Chest Physicians  
Society for Neuroscience

American Thoracic Society  
Society of Critical Care Medicine  
American Osteopathic Association  
American Medical Association

## CERTIFICATIONS

ABA-Board Certified 10199

Critical Care Medicine-Board Certified-11/99

## MEDICAL LICENCE

Tennessee

## PUBLICATIONS

1. Smithson, K. G., MacVicar, B. A. and Hatton, G. I. Polyethylene glycol: A superior embedding compound compatible with immunocytochemistry and intracellular staining. *Soc. Neurosci. Abstr.* 7:490, 1981.
2. Cobbett, P., Smithson, K. G., MacVicar, B. A. and Hatton, G. I. immunoreactivity to neurophysins I and II in dye-coupled magnocellular hypothalamic neurons. *Soc. Neurosci. Abstr.* 8: 531, 1982.
3. Salm, A. K., Smithson, K. G. and Hatton, G. I. Lactation-associated glial plasticity in the supraoptic nucleus of the rat. *Soc. Neurosci. Abstr.* 9: 451, 1983.
4. Smithson, K. G., MacVicar, B. A. and Hatton, G. I. Polyethylene glycol embedding: a technique compatible with immunocytochemistry, enzyme histochemistry, histofluorescence and intracellular staining. *J. Neurosci. Meth.* 7:27-41, 1983.
5. Cobbett, P., Smithson, K. G. and Hatton, G. I. Phasic neurons of rat hypothalamic paraventricular nucleus are immunoreactive to vasopressin — but not oxytocin-associated neurophysin antiserum. *Soc. Neurosci. Abstr.* 10: 609, 1984.
6. Smithson, K. G., Cobbett, P., MacVicar, B. A. and Hatton, G. I. A reliable method for immunocytochemical identification of Lucifer Yellow injected, peptide-containing mammalian central neurons. *J. Neurosci. Meth.* 10: 59-69, 1984.
7. Smithson, K. G., MacVicar, B. A. and Hatton, G. I. An incubation chamber for the simultaneous, on-slide treatment of brain sections with different reagents. *Brain Res Bull.* 12(6): 745-747, 1981.
8. Cobbett, P., Smithson, K. G. and Hatton, G. I. Dye-coupled magnocellular peptidergic neurons of the rat paraventricular nucleus show homotypic immunoreactivity. *Neuroscience.* 16(4): 885-895, 1985.
9. Salm, A. IC., Smithson, K. G. and Hatton, G. I. Lactation associated redistribution of the glial fibrillary acidic protein within the supraoptic nucleus: an immunocytochemical study. *Cell Tissue Res.* 242: 9-15, 1985.

10. Cobbett, P., Smithson, K. G. and Hatton, G. I. Immunoreactivity to vasopressin- but not oxytocin-associated neurophysin antiserum in phasic neurons of rat hypothalamic paraventricular nucleus. *Brain Res.* 362: 7-16, 1986.
11. Hollowell, D. E., Smithson, K. G. and Hatton, G. I. Anatomical evidence for olfactory input to the supraoptic nucleus of the rat: an anterograde study employing the lectin "Phaseolus vulgaris" leucoagglutinin (PHA-L). *Soc. Neurosci. Abstr.* 12: 1256, 1984.
12. Smithson, K. G. and Hatton, G. I. Evidence for zona incerta/perifornical projections to the supraoptic and paraventricular nuclei of the rat hypothalamus. *Soc. Neurosci. Abstr.* 12: 1256, 1986.
13. Smithson, K. G., Weiss, M. L. and Hatton, G. I. Anterograde and retrograde tracing studies of the main olfactory bulb inputs to rat supraoptic neurons. *Soc. Neurosci. Abstr.* 13: 1594, 1987.
14. Taubitz, I. L., Smithson, K. G. and Hatton, G. I. Dendritic zone of the supraoptic nucleus (SON): Ultrastructural changes in motherhood. *Soc. Neurosci. Abstr.* 13: 1594, 1987.
15. Hatton, G. I., Yang, Q. Z. and Smithson, K. G. Synaptic inputs and electrical coupling among magnocellular neuroendocrine cells. *Brain Res Bull.* 20: 751-755, 1988.
16. Smithson, K. G., Suarez, I. and Hatton, G. I.  $\beta$ -adrenergic stimulation decreases glial, and concomitantly increases neural contact with the basal lamina in rat neurointermediate lobes incubated in vitro. *Soc. Neurosci. Abstr.* 14: 1176, 1988.
17. Smithson, K. G., Zapp, A. R., Hatton, G. I. and Weiss, M. L. Accessory olfactory bulb inputs to the rat supraoptic nucleus: an anterograde and retrograde study. *Anat. Rec.* 220: 91A, 1988.
18. Tweedle, C. D., Smithson, K. G. and Hatton, G. I. Neurosecretory axons make en passant contacts with the basal lamina of the rat neurohypophysis. *Anat. Rec.* 220: 99A, 1988.
19. Tweedle, C. D., Smithson, K. G. and Hatton, G. I. Evidence for dendritic and axonal hormone release in the rat hypothalamo-neurohypophysial system. *Soc. Neurosci. Abstr.* 14: 1176, 1988.
20. Smithson, I. L., Smithson, K. G. and Hatton, G. I. Immunogold silver staining of intracellularly injected supraoptic neurons. *Soc. Neurosci. Abstr.* 15: 309, 1989.
21. Smithson, K. G., Weiss, M. L. and Hatton, G. I. Supraoptic nucleus afferents from the main olfactory bulb-I. Anatomical evidence from anterograde and retrograde tracers in rat. *Neuroscience.* 31: 277-287, 1989.
22. Tweedle, C. D., Smithson, K. G. and Hatton, G. I. Neurosecretory endings in the rat neurohypophysis are en passant. *Exp. Neurol.* 106: 20-26, 1989.
23. Tweedle, C. D., Smithson, K. G. and Hatton, G. I. Rapid morphological changes in the supraoptico-neurohypophysial system of the perfused rat brain. *Soc. Neurosci. Abstr.* 15: 1224, 1989.
24. Smithson, K. G. and Hatton, G. I. "Immunocytochemical identification of electrophysiologically characterized cells in brain slices." In: *Analysis of neuronal*



- microcircuits and synaptic interactions*, A. Björklund, T Hökfelt, F. G. Wouterlood and A. N. van den Pol (Eds), Amsterdam, Elsevier Science Publishers B.V., pp. 305-350, 1990.
25. Smithson, K. G. and Hatton, G. I. Kynurenic acid blocks excitatory synaptic responses of rat supraoptic nucleus neurons to lateral olfactory tract stimulation. *Soc. Neurosci. Abstr.* 16: 1313, 1990.
  26. Smithson, K. G., Suarez, I. and Hatton, G. I. Beta-adrenergic stimulation decreases glial and increases neural contact with the basal lamina in rat neurointermediate lobes incubated in vitro. *J. Neuroendocrinol.* 2: 693-699, 1990.
  27. Smithson, K. G., Weiss, M. L. and Hatton, G. I. Supraoptic nucleus afferents from the accessory olfactory bulb: Evidence from anterograde and retrograde tract tracing in the rat. *Brain Res. Bull.* 29(2): 209-220, 1992.
  28. Prakash, Y. S., Smithson, K. G. and Sieck, G. C. Measurements of motoneuron somal volumes using laser confocal microscopy: Comparisons with shaped-based stereological estimates. *NeuroImage* 1: 95-107, 1993.
  29. Prakash, Y. S., Smithson, K. G. and Sieck, G. C. Measurements of motoneuron somal volumes using laser confocal microscopy: Comparisons with estimates using the Cavalieri principle and the nucleator. *Soc. Neurosci. Abstr.* 19: 1112, 1993.
  30. Tweedle, C. D., Smithson, K. G. and Hatton, G. I. Rapid synaptic changes and bundling in the supraoptic dendritic zone of the perfused rat brain. *Experimental Neurology* 124:200-207, 1993.
  31. Smithson, K. G., Prakash, Y. S. and Sieck, G. C. Dendritic morphology of rat phrenic motoneurons visualized by retrograde tracing with cholera toxin-B subunit (CTB). *Soc. Neurosci. Abstr.* 19: 993, 1993.
  32. Prakash, Y. S., Smithson, K. G. and Sieck, G. C. Application of the Cavalieri principle using laser confocal microscopy. *NeuroImage* 2:325-333, 1994.
  33. Prakash, Y. S., Smithson, K. G. and Sieck, G. C. Growth-related adaptations of endplates on diaphragm muscle fibers: a 3D study using confocal microscopy. *Soc. Neurosci. Abstr.* 20, 1994.
  34. Smithson, K. G., Prakash, Y. S. and Sieck, G. C. Three-dimensional dendritic analysis of rat phrenic motoneurons during postnatal growth. *Soc. Neurosci. Abstr.* 20, 1994.
  35. Yang, Q. Z., Smithson, K. G. and Hatton, G. I. NMDA and non-NMDA receptors on rat supraoptic nucleus neurons activated monosynaptically by olfactory afferents. *Brain Research*, 680:207-216, 1995.
  36. Prakash, Y. S., Smithson, K. G. and Sieck, G. C. Growth-related plasticity of motor endplates in type-identified diaphragm muscle fibers. *J. Neurocytol.*, 24: 225-235, 1995.
  37. Sieck, G. C., Zhan, W. Z., Miyata, H., Prakash, Y. S. and Smithson, K. G. Phrenic motoneurons adapt to inactivity. *Soc. Neurosci. Abstr.*, 21, 1995.

38. Prakash, Y.S., Zhan, W.Z., Miyata, H., Smithson, K.G., and Sieck, G.C. Phrenic motoneuron adaptations induced by removal of excitatory drive, *Neural Control of Breathing: Molecular to Organismal Perspectives (APS Conference)*, 1996.
39. Prakash, Y.S., Kinkead, R., Zhan, W.Z., Smithson, K., Zhan, P., Sieck G.C., and Mitchell, G.S. Serotonergic innervation of phrenic motoneurons is increased by cervical dorsal rhizotomy (CDR). *Faseb J.*, 1997.
40. Zhan, W.Z., Zhan, P., Prakash, Y.S., Kinkead, R., Smithson, K., Mitchell, G.S., and Sieck, G.C. Cervical dorsal rhizotomy (CDR) enlarges phrenic motoneurons in rats. *Faseb J.*, 1997.
41. Smithson, K. G., and K. Strange. Physical properties and transport of body fluids and electrolytes. In: *in press*, 1999.
42. Smithson, K. G., and K. Clarkson. Body Fluid Compartments. In: *in press*, 1999.

20 rats / 42 publications

Donna Taylor-Kolis  
Donna Taylor-Kolis Co., L. P.A.  
Third Floor Standard Building  
1370 Ontario Street  
Cleveland OH 44113-1701

Dear Ms. Taylor-Kolis:

I apologize for not getting this to you sooner. My travel and clinical schedules have been a bit hectic. I have accepted new clinical responsibilities at Vanderbilt and will become the Director of Critical Care for the Department, and thus my attention has been focused on making a smooth transition between the current Chief and myself.

Contained in the following pages is my detailed assessment of Dr. Celerio's performance as an anesthesiologist. I trust that I have his name spelled correctly, but it is hard to decipher in the records. In terms of his performance, there are several additional items that you may wish to inquire.

1. Is he a Board Certified Anesthesiologist?
2. When was the last time he renewed his ACLS training?
3. What Institution did he do his training at, and was he ever placed on academic probation?

Also included is my *Curriculum Vitae*, as you can see I am boarded in both Anesthesiology, and Critical Care.

The time it took to review these records and prepare an opinion was four hours. If I remember correctly, we agreed on \$250.00 per hour for this evaluation. You may send the check to my academic address. If you have any further questions I can be reached by email at the address listed (this is the preferred method), or if urgent by telephone at 615-343-6268. This is my secretary's telephone—she will know how to reach me.

Sincerely,



Kenneth G. Smithson D.Q., Ph.D.  
Assistant Professor of Anesthesiology,  
Director of Perioperative Medicine,

504 Oxford House  
Department of Anesthesiology  
Vanderbilt University  
Nashville, TN 37232  
[kenneth.smithson@mcmail.vanderbilt.edu](mailto:kenneth.smithson@mcmail.vanderbilt.edu)  
[kgsmithson@home.com](mailto:kgsmithson@home.com)

DEPOSITION  
EXHIBIT

2

Donna Taylor-Kolis  
Donna Taylor-Kolis Co., L. P.A.  
Third Floor Standard Building  
1370 Ontario Street  
Cleveland OH 44113-1701

Dear Ms. Taylor-Kolis:

I have reviewed in detail the medical records of Nancy Armstrong as it pertains to the care rendered by her anesthesiologist, Dr. Celerio during her intraoperative death on August 7, 1999.

My general impression is that Dr. Celerio did not perform a practice of anesthesiology consistent with the current standards as detailed by either the American Board of Anesthesiologists (ABA), or American Society of Anesthesiologists (ASA)—the two peer-reviewed societies which develop standard for the profession.

My impressions are based on the following observations and illustrate medical incompetence in the practice of anesthesiology.

**Preoperative Evaluation:**


Several documents throughout the chart clearly illustrate that the patient is developing symptoms of cardiac decompensation. This is also detailed in the pre-anesthetic self-assessment, by affirmative answers to question 1 of the respiratory review of systems, and questions 2, 6, 8, and 12 of the cardiac review of systems. Together these all indicate symptoms of severe cardiopulmonary decline.

This is further supported, by radiographic studies that demonstrate cardiomegaly and pleural effusions. (In fact in retrospect, the cardiac silhouette likely represents a pericardial effusion).

Even Dr. Celerio's own exam documents decreased breath sounds consistent with this effusion. Yet, I can find nothing in his notes that raise a specter of doubt, nor any integration of the patient medical history. Further evidence supporting this supposition is the abnormal SPECT scan on 4/20/99 which demonstrates dyskinesia of the left anterior wall, right ventricular hypertrophy, and an ECG consistent with a previous anterior infarction. He, however, makes no reference to these new studies, but does note a previous cardiac study but has not reviewed the results. Rather he depends only on the patient's own recollection of the study.

**Operative Management:**

Despite all the clues that this patient may have some cardiac dysfunction, Dr. Celerio's choice of induction drugs likely lead to the demise of the patient. Propofol was employed for induction; it has many desirable properties including fast redistribution and rapid wakeups. One of the most significant side effects of propofol is the decrease in cardiac contractility, and peripheral vasodilation, both due to alterations in the calcium handling by the corresponding cells. This can result in a precipitous fall in blood pressure. This is well known, and described in the literature.



It is not the drug of choice for a failing heart. We have other induction agents that are much better suited in these situations (e.g. etomidate).

This significant side effect of propofol was demonstrated in the case of Nancy Armstrong. Immediately following induction with 200 mg of propofol the patient's blood pressure dropped 60 mmHg (systolic) within a matter of a few minutes. Clearly, this was not well tolerated by the patient, and represented a somewhat exaggerated response to this amount of propofol. Despite this, the patient remained on nitrous oxide while the blood pressure was falling precipitously.

### **Code Management:**

In my mind, the "code" began when the patient's blood pressure fell dramatically. This was not a normal response to a propofol induction and heralded an impending cardiac arrest. This started, per the anesthesia record, many minutes before an intervention was initiated. Dr. Celerio was not vigilant. At 11:55 the patient's blood pressure dropped to 80/35. At this point, the patient's large hypertrophied ventricle was no longer perfused; since blood flow to the left heart muscle occurs in diastole. It was not until 12:03 that therapy was initiated. As it turned out this delay was unrecoverable, and the patient developed a significant bradycardia.

The choice of therapy during the resuscitation depends critically on your insight of the underlying problem. The success of the code (assuming a reversible process) also depends on the rapidity with which the therapies are initiated. Per the code records, it took 10 minutes to initiate one therapeutic maneuver—one dose of ephedrine, and to then turn off the nitrous oxide. Thus from 11:55 to 12:10, fifteen minutes of gross hypoperfusion to the heart took place with one dose of ephedrine given as the sole therapy. This would not be considered a reasonable standard of practice. Other therapeutic maneuvers should have been undertaken, more quickly, and sooner in the patient's decline. The fact that Dr. Celerio likely lacked insight into the patient pathophysiology severely hampered his ability to care for the patient. Unfortunately, all the clues were in the patient's medical record.

The remainder of the code was uninspired. I'm surprised that epinephrine (a drug that is readily available in all anesthesia and code carts) was never employed. In hindsight, Mrs. Armstrong had likely developed either tamponade physiology due to her pericardial effusion, or acute right heart failure—both of these should be treated with aggressive fluid resuscitation and epinephrine, or phenylephrine.

The code was called at 13:02 after only 1400 cc of fluid had been infused over the hour that the code had taken place. This is not an adequate resuscitative effort.

It is not clear from the records why the code was terminated. My only clue is the reference to fixed and dilated pupils, yet this is not a reliable sign after atropine is given. Atropine will fix and dilate the eyes. The unfortunate fact is that because the patient was given propofol on induction (a dose high enough to render the brain silent) the patient could tolerate a much longer period of hypoperfusion. This sad fact opens the possibility that the patient may have still been alive when the code was called.

**Summary:**

In summary, my review of Dr. Celerio's conduct of the practice of anesthesiology find him grossly deficient in relationship to a nationwide standard of practice as detailed by our governing bodies.

Sincerely,

A handwritten signature in black ink, appearing to read "KG Smithson". The signature is fluid and cursive, with the first letters of the first and last names being capitalized and prominent.

Kenneth G. Smithson D.Q., Ph.D.  
Assistant Professor of Anesthesiology,  
Director of Perioperative Medicine,  
504 Oxford House  
Department of Anesthesiology  
Vanderbilt University  
Nashville, TN 37232  
[kenneth.smithson@mcmail.vanderbilt.edu](mailto:kenneth.smithson@mcmail.vanderbilt.edu)  
[kgsmithson@horne.com](mailto:kgsmithson@horne.com)

C E R T I F I C A T E

I, B. J. Davis, Certified Shorthand Reporter and Notary Public, State of Tennessee at Large, do hereby certify that I recorded to the best of my skill and ability by machine shorthand the deposition contained herein, that same was reduced to computer transcription by myself, and that the foregoing is a true, accurate, and complete transcript of the deposition testimony heard in this cause.

I further certify that the witness was first duly sworn by me and that I am not an attorney or counsel of any of the parties, nor a relative or employee of any attorney or counsel connected with the action, nor financially interested in the action.

This 16<sup>th</sup> day of September, 2001.

  
B. J. Davis

My Commission Expires:

November 30, 2002