

State of Ohio,)
County of Cuyahoga.) SS:

IN THE COURT OF COMMON PLEAS

PATRICIA TIPPIE, et al.,)
)
 Plaintiffs,)
)
 vs.) Case No. 299575
)
SHOBHA TAMASKER, M.D.,)
et al.,)
)
 Defendants.)

- - - - -
THE DEPOSITION OF LASZLO SOGOR, M.D., Ph.D.
MONDAY, AUGUST 23, 1999
- - - - -

The deposition of Laszlo Sogor, M.D., Ph.D., a
Witness herein, called by the Plaintiffs for
examination pursuant to the Ohio Rules of Civil
Procedure, taken before me, the undersigned, Tracy L.
Barker, a Registered Professional Reporter and Notary
Public within and for the State of Ohio, taken at
University Hospitals, MacDonald Womens Hospital,
Cleveland, Ohio, commencing at 5:20 p.m., the **day** and
date above set forth.

CADY & WANOUS REPORTING SERVICES, INC.
55 PUBLIC SQUARE
1225 ILLUMINATING BUILDING
CLEVELAND, OHIO 44113
(216) 861-9270

APPEARANCES:

On behalf of the Plaintiffs:

Dennis R. Lansdowne, Esq.
Spangenberg, Shibley & Liber
2400 National City Center
1900 East Ninth Street
Cleveland, Ohio 44114

On behalf of the Defendants:

Ernest W. Auciello, Jr., Esq.
Gallagher, Sharp, Fulton & Norman
Seventh Floor Bulkley Building
1501 Euclid Avenue
Cleveland, Ohio 44115

1 LASZLO SOGOR, M.D. , Ph.D.

2 of lawful age, called by the Plaintiffs for
3 examination pursuant to the Ohio Rules of Civil
4 Procedure, having been first duly sworn, as
5 hereinafter certified, was examined and
6 testified **as follows:**

7 EXAMINATION OF LASZLO SOGOR, M.D., Ph.D.

8 BY MR. LANSDOWNE:

9 Q Doctor, would you state your full name for the
10 record.

11 A Laszlo Sogor.

12 Q Dr. Sogor, my name is Dennis Lansdowne. We just
13 had an opportunity to meet a second or two
14 before you were sworn in. I represent Patty
15 Tippie and her husband in a case that's pending
16 in Cuyahoga County Common Pleas Court, and
17 you've been identified as an expert witness for
18 the defense in that case. I'm correct about
19 that, right?

20 A Yes.

21 Q And the purpose of our being here today is for
22 me to determine what your opinions are relative
23 to that case and what the bases for those
24 opinions are. Do you understand that's the
25 purpose of our being here?

1 A Yes.

2 Q And you have authored a report in this case, and
3 I'm going to ask you about that report in
4 conjunction with questions about your opinions.
5 If at any time you don't understand my question,
6 I use a term incorrectly **or** inappropriately,
7 please tell me that rather than answering the
8 question. Okay?

9 A Yes.

10 Q And if you don't hear my question, there's noise
11 outside or overhead, you don't hear the entire
12 question, please tell me that as well as opposed
13 to answering the question. All right?

14 A Yes.

15 Q If at any time during the course of the
16 deposition you need to take a break for whatever
17 reason, to answer a page, whatever, please tell
18 me that and we'll do that at your convenience.
19 Fair enough?

20 A Yes.

21 Q And if at any time you want during the course of
22 this deposition to go back and change an answer
23 that you've previously given or amend an answer
24 or add to it or clarify it in any way, please
25 interrupt me at any point and go back to that

1 question if you wish. Okay?

2 A Yes.

3 Q Doctor, I'm going to mark this CV, which I
4 understand is not quite current, but we'll have
5 it marked as Exhibit 1 and then we can explain
6 where it leaves **off** and where we are **today**.

7 Okay?

8 A Yes.

9 - - - - -

10 (Plaintiffs' Exhibit No. 1 was marked.)

11 - - - - -

12 Q Doctor, for the record, would you just identify
13 what Plaintiffs' Exhibit 1 is?

14 A It's my CV as of November of 1986.

15 MR. AUCIELLO: '96 you mean.

16 A '96. I'm sorry.

17 Q Well, can you fill in from '96 to the present?

18 A I've given several more lectures at various
19 places, written a couple of book chapters I
20 believe that are not on here, and probably have
21 written an additional paper.

22 Q Okay.

23 A But I don't remember the exact dates, so that
24 would have to be looked up.

25 Q Okay. Well, you have a current CV in your

1 office somewhere, and that can be provided to
2 your counsel at some point, or to some counsel
3 at some point?

4 A Any time you wish.

5 Q Very good. As far as your position, is it still
6 associate professor of reproductive biology at
7 Case Western Reserve University?

8 A Yes, it is.

9 Q And are you also still the chief of the division
10 of gynecology?

11 A Yes, I am.

12 Q And also the director of the OB/GYN residency
13 program?

14 A Yes, I am.

15 Q Are you still the chief of gynecology at the
16 Cleveland Veterans Administration?

17 A Yes, I am.

18 Q Do you have a subspecialty within the field of
19 obstetrics and gynecology?

20 A Pelvic reproductive surgery.

21 Q And what is pelvic reproductive surgery?

22 A Well, that's repairing "bad bottoms." Put
23 quotes around "bad bottoms."

24 Q Okay.

25 A Repairing women's vaginas, perineum from after

delivery, gravity, and aging.

Q And as far as your clinical practice, is that what the greatest percentage of your time is devoted to, pelvic reproductive surgery?

A That's my academic specialty. Clinically I'm still very diverse, so I can't say that because I don't keep numbers specifically that way. To be able to say that that's the dominant, that's a specialty area that I specialize in, but I do a lot of other procedures as well, as well as obstetrics.

Q You say clinically your practice is diverse. Just give me an idea of what your practice consists of.

A What sort of -- I'm not sure what you want.

Q Well, you described that you do pelvic reproductive surgery. What other aspects of gynecology are you involved in?

A Operative endoscopy, obstetrics. Those are the main things.

Q You're still delivering babies then?

A Yes.

Q Are you in a group practice?

A Yes. The faculty practice is a group practice.

Q Okay. And is that University Obstetrics, or

1 what's its --

2 A University OB/GYN Associates, Inc.

3 Q Do you have an office besides the office here at
4 University Hospitals?

5 A Yes. The corporation has an office at
6 Landerbrook.

7 Q Do you also have an office at Landerbrook?

8 A I practice there as well, yes. I see patients
9 there.

10 Q How much of your time is spent there, as opposed
11 to here?

12 A Pretty well 50/50.

13 Q Have you written any papers or abstracts dealing
14 with the subject of episiotomies?

15 A No.

16 Q How about episiotomy repairs?

17 A No.

18 Q How about complications of episiotomies?

19 A No.

20 Q How about with respect to repairs of
21 third-degree lacerations following childbirth?

22 A No.

23 Q Never written anything on that?

24 A No.

25 Q Have you given presentations on any of those

1 subjects that I just asked you?

2 A I don't believe so.

3 Q Have you, for purposes **of** your involvement as an
4 expert in this case, done any review **of**
5 literature on the subjects that are involved **in**
6 this case?

7 A Not in the near memory. I probably have not
8 done a review of this literature since probably
9 1990.

10 Q Okay. What would have occasioned you to do a
11 review of literature on these subjects back in
12 1990?

13 A Possibly for resident education.

14 Q Are there protocols at University Hospital
15 regarding episiotomies and the repair of
16 lacerations after childbirth?

17 A No.

18 Q Are there standing, or standard orders at
19 MacDonald Hospital for those subjects?

20 A Yes.

21 Q Okay. Can you tell me what those standard
22 orders consist of?

23 A No.

24 Q Why not?

25 A Because I don't memorize the computer screen.

1 Q Okay. When we're talking about standard orders,
2 so I'm sure I'm talking about the same thing,
3 I'm referring to orders that would be placed in
4 a patient's chart as a routine matter, a patient
5 who's received an episiotomy, for instance.

6 A Oh, I'm not too sure that -- those **fall** into --
7 there's several categories of this. Okay? How
8 do you do standard ordering? Number one, you
9 have a standard order with some variation to
10 suit the particulars on the patient. So if the
11 patient doesn't fall out into some particular
12 category, they get a standard order set. And,
13 you know, you just check it off on the computer
14 as to what you want of those standard orders.

15 If you don't check anything, pretty well
16 the standard orders are followed by nursing,
17 their protocol. But if there's some unique
18 situation that stands out that you want
19 something different in addition to or less than,
20 then you have to make a specific order for that.

21 Q I guess there would be a standard set of
22 postpartum orders?

23 A Correct. There's a standard set of postpartum
24 orders. There's a standard set of orders for
25 post C-section, one for hysterectomies, one for

1 vaginal hysterectomies, one for bladder repairs,
2 and for all the basic procedures that are done
3 in significant volume.

4 Q And then ~~the physician can either~~ cross out or
5 check those things that they want or don't want
6 out of that sort of menu of those standard
7 orders; is that --

8 A Correct.

9 Q Okay. What have you reviewed for this case?

10 A Well, I guess the obstetrical records that were
11 provided to me two years ago.

12 Q And do you know what --

13 A Whatever those were. And I have no recollection
14 of that, what the specifics of that were.

15 Q And you probably don't have them anymore?

16 A I have not saved them because when all this
17 happened, I assumed I would no longer be a party
18 to this case. As you all know, your records get
19 beyond belief in terms of storage capabilities,
20 and the hospital has not seen fit to give me
21 adequate storage.

22 Q Well, what do you have left of your file, your
23 report?

24 A This was in my computer and that's it.

25 Q Okay. "This" you're referring to your August

1 28, '97 report?

2 A Yes.

3 Q Written. to Ms. Susan Renker?

4 A Correct.

5 Q I just have to run through a couple quick
6 questions. Do you know when Ms. Renker
7 contacted you about this case?

8 A I don't recall that.

9 Q Or how it is that you were contacted about this
10 case?

11 A I believe she -- the usual way that Jacobson
12 lawyers contacted me, by telephone asking me if
13 I would review a case, and then they would send
14 the case out to me by courier. I'd look at it
15 and give them a verbal opinion as to whether I
16 thought it was defensible or not defensible.

17 Q Okay. Let me ask you about your involvement in
18 medical-legal cases. How often do you review
19 medical-legal cases as an expert?

20 A I probably get one every other month.

21 Q Okay.

22 A You know, that is someone actually sends me a
23 case.

24 Q How long has that been the case?

25 A Probably since 1986. But it hasn't been of that

1 volume, so, you know, you start out **low** and you
2 eventually build up a volume. **So** early on there
3 certainly may have been one case a year, and
4 gradually this **has evolved into a** busier case
5 presentation.

6 **Q** And the present volume would be **about** one every
7 other month?

8 **A** That's about what it boils down to, yes.

9 **Q** Have you ever been involved in a case besides
10 this one involving just generally episiotomy and
11 repair of a tear?

12 **A** I've been an expert on a similar case before,
13 yes.

14 **Q** On a similar case, is that what you said?

15 **A** Yes.

16 **Q** When was that case?

17 **A** Probably last year.

18 **Q** And did you testify in that case?

19 **A** No.

20 **Q** Did you author a report?

21 **A** I don't believe so.

22 **Q** Did you give an oral opinion?

23 **A** Yes.

24 **Q** And was that to an attorney representing a
25 physician?

1 A No. It was to the attorney representing the
2 plaintiff.

3 Q Okay. And could you just generally tell me what
4 that case involved'and what your opinions were?

5 A Very vaguely, it was very similar to your case.
6 The patient had a fourth-degree episiotomy that
7 broke down and required a couple of surgical
8 procedures to correct.

9 Q And your opinion was?

10 A There was no malpractice involved.

11 Q Is that the only other case similar to this one
12 you've been involved in?

13 A To my recollection, yes.

14 Q Okay. Have you ever been a defendant in a
15 lawsuit?

16 A Personally?

17 Q Yes.

18 A Yes.

19 Q On how many occasions?

20 A Don't know. Many of my cases are hospital-based
21 cases with the residents.

22 Q Okay.

23 A So I don't keep track of those.

24 Q In terms of your own, personally being named in
25 a case, approximately how many times?

- 1 A About four.
- 2 Q Were those all in Cleveland?
- 3 A Yes.
- 4 Q Did any of those cases go to trial?
- 5 A One.
- 6 Q When was that?
- 7 A '87.
- 8 Q What was the result of that?
- 9 A Verdict for the defense.
- 10 Q Were you represented by someone from
- 11 Jacobson-Maynard in that case?
- 12 A Yes, by that time we were with Jacobson.
- 13 Q The other three cases?
- 14 A Never materialized.
- 15 Q Okay. In terms of the cases that you act as an
- 16 expert witness in, approximately what percentage
- 17 are for the patient and what for the physician?
- 18 A Lately it's been pretty even.
- 19 Q Lately, say in 1999?
- 20 A Past couple of years.
- 21 Q Last couple of years?
- 22 A Yes
- 23 Q Prior to that?
- 24 A Prior to that, it was mostly defense work.
- 25 Q What are your charges for expert consultation?

- 1 A I don't know. It's in the computer.
- 2 Q Okay.
- 3 A My secretary has those in the computer, and I
4 don't pay much attention to it, **so** I'm sorry.
5 I'd misquote you. If you need that --
- 6 Q That's all right.
- 7 A -- you're welcome to ask for it.
- 8 Q I'm sure we'll get a bill and we'll deal with it
9 then.
- 10 A Okay.
- 11 Q Do you know Dr. Tamasker?
- 12 A Just -- I don't know her personally. I've seen
13 her at OB/GYN Society meetings, but beyond that,
14 I don't know her.
- 15 Q Have you spoken with her about this case?
- 16 A No, I have not.
- 17 Q When you say you see her at Society, you mean
18 the Cleveland Society?
- 19 A The Cleveland OB/GYN Society, yes.
- 20 Q How often does that meet?
- 21 A Five times a year.
- 22 Q And Dr. Tamasker is, apparently, a member of
23 that society?
- 24 A I would presume so.
- 25 Q Okay. Have you ever discussed a patient with

1 Dr. Tamasker?

2 A Not to my knowledge,

3 Q Has she ever referred a patient to you?

4 A She may have. I don't recall.

5 Q Other than the, I think you said the obstetrical
6 records, what other records did you review
7 regarding Patty Tippie?

8 A Nothing else.

9 Q Did you review any of the records of her
10 attempted repairs?

11 A No.

12 Q Do you know what attempted repairs she had?

13 A No.

14 Q Do you know what her condition is today?

15 A No.

16 Q Let me just ask, with respect to -- do you know
17 if she had any pudendal nerve damage?

18 A I don't know.

19 Q That's something that can be checked using
20 manometry?

21 A Well, there's a lot of different ways to check
22 for pudendal damage or other neurologic
23 injuries. That's a technique.

24 Q Okay. Do you know if that was done?

25 A No.

1 Q Does it matter to you in your opinion whether
2 she has pudendal nerve damage?

3 A No.

4 Q I'm asking ~~about that~~ because you have a
5 sentence in your report that, the incontinence
6 may be then due to the magnitude **of** the
7 separation or it may be due to pudendal nerve
8 damage.

9 A Correct.

10 Q If, in fact, Mrs. Tippie underwent testing for
11 pudendal nerve damage and that testing revealed
12 no nerve damage, you'd have no reason to dispute
13 that, correct?

14 A Correct.

15 Q Based on some earlier questions, I can move
16 through some of this a little quicker, so give
17 me a second.

18 Have you discussed this case with any
19 other physician?

20 A No.

21 Q Just so we can make sure I understand what your
22 understanding is of what occurred, at the time
23 of the birth of her first child, Dr. Tamasker
24 performed a midline episiotomy on Mrs. Tippie?

25 A Yes.

1 Q And during the birth process, Mrs. Tippie
2 sustained a third-degree perineal tear?

3 A Well, either that or it was cut. I can't tell
4 which it was. But either way, she sustained a
5 perineal third-degree laceration, either by
6 incision or by tearing.

7 Q Have you read Dr. Tamasker's deposition?

8 A No.

9 Q Okay. I mean, would there be a reason why you
10 would do an incision that went all the way into
11 a third-degree laceration?

12 A Well, yes. There are situations where you need
13 to do that to effect adequate room to deliver
14 the baby atraumatic and to minimize further
15 damage to the mother's perineum.

16 Q And in this case, you just don't know which,
17 whether it was done intentionally or whether it
18 was just an extension of the episiotomy as a
19 result of the birth process?

20 A Correct.

21 Q And it doesn't make any difference to you?

22 A It doesn't make any difference.

23 Q And so I'm clear, how do you define a
24 third-degree perineal tear?

25 A The fascia encasing the sphincter is torn or

1 cut, but the sphincter muscle itself is intact.

2 Q Do you know why Dr. Tamasker did an episiotomy?

3 A Well, there's usually two reasons to do that.

4 Number one **is** the principal reason that we

5 believe in episiotomies **is** that it reduces

6 maternal injury. The **second** reason **is** to reduce

7 or shorten the length of time to deliver a baby.

8 Q Do you know specifically why Dr. Tamasker did it
9 in this case?

10 A No.

11 Q You do episiotomies, I take it?

12 A Yes.

13 Q Do you do midline episiotomies?

14 A Yes.

15 Q Is that the episiotomy of choice for you?

16 A Exclusively.

17 Q You don't do the mediolaterals?

18 A Never done one.

19 Q Does a midline episiotomy increase the
20 likelihood of third-degree and fourth-degree
21 tears?

22 A Yes.

23 Q Over mediolaterals?

24 A Correct.

25 Q But you prefer it, in any event?

1 A Yes.

2 Q Why?

3 A The complications of the mediolateral, which
4 means you're invading a different muscle group.
5 There you're cutting the levator ani as part of
6 that process, which can lead to more severe
7 complications, in my view.

8 Q Dr. Tamasker went on to do a repair near the
9 time of the delivery, correct?

10 A Yes.

11 Q Do you know if it was before or after the
12 delivery of the placenta?

13 A No. Don't know.

14 Q Generally, what percent of births do women end
15 up with third- or fourth-degree lacerations?

16 A Well, fourth degrees are probably around 1
17 percent these days. Third degree it's very hard
18 because, you know, different physicians have a
19 little bit of a different threshold for
20 reporting. So I would probably say, in my
21 experience and my observations at this
22 institution where we do 5,000 deliveries a year,
23 third degrees are probably 10 to 20 percent, if
24 honestly reported.

25 But a lot of this is somewhat subjective

1 as to what you call a third degree. Because,
2 you know, is a little fascial tear a third
3 degree, or does it take a lot of fascial tear
4 before you call it that? So there is a bit of
5 room on that one to wiggle. Fourth degrees,
6 there's very little room to wiggle. I mean, you
7 tear the sphincter and you're into the rectal
8 mucosa. That's what you've got.

9 Q In terms of the repair, do you know what kind of
10 repair Dr. Tamasker did?

11 A No. I don't recall. I don't know if it was
12 described.

13 Q What type of repair do you utilize for
14 third-degree tears?

15 A Third-degree I generally -- and not always, it
16 just sort of depends on the patient's anatomy,
17 their parity. I usually interrupted do a repair
18 of the fasciae as an interrupted set of sutures.
19 At that point you're back to a second degree,
20 then I do a standard running closure of the
21 second degree.

22 Q So sort of like a two-layer repair?

23 A Yes. That's what I do.

24 Q Okay.

25 A But I'm not saying that that absolutely has to

1 be done. I think most of these heal just fine
2 practically no matter what you do. I had a
3 professor once who threw one suture across the
4 whole dang thing, tied it, ~~and that~~ was it, . . .

5 Q Most third-degree repairs are successful?

6 A The majority are successful.

7 Q Do you know what the percentages are on that?

8 A You know, it's such a low number that it's hard
9 to realistically measure what the breakdown is
10 on episiotomies.

11 Q I mean, specifically with respect to
12 third-degree repairs, do you know how many of
13 those fail?

14 A I don't.

15 Q I mean, percentage wise?

16 A I don't have a rough number at all. Probably
17 maybe one or two per thousand.

18 Q Is the best chance for a success of a repair the
19 first repair?

20 A Always.

21 Q Why is that?

22 A Don't know. But this is our experience with all
23 surgical procedures that fail for function, no
24 matter what you're talking about. If it fails
25 the first time for some functional result, it's

1 likely to be less successful the next time you
2 do it.

3 Q All right.

4 A And it may have to ~~do with tissue~~ denervation,
5 you know, that is some of the nerve injury that
6 occurs with the first surgery, something to ~~do~~
7 with why the first one broke down in the first
8 place. It may have to do with the patient's
9 specific tissue type. A lot of factors come
10 into play on that. This is not clear to us, but
11 it's true no matter what you're doing.

12 Q In your own experience, have you ever had a
13 third-degree repair fail?

14 A I'm not sure I've ever had a third degree fail.
15 Fourth degrees, yes.

16 Q Following the repair of a third-degree
17 laceration, are there certain things that should
18 be done or ordered for that patient?

19 A I don't discriminate. I don't think
20 third-degree lacerations are any different in
21 terms of outcome than second degrees, so I don't
22 make any special distinction personally.

23 Q Well, what about for second- or third-degree
24 lacerations that are repaired, are there orders
25 that you utilize for those patients?

1 A I use the same orders for second and third.

2 Q What are those orders?

3 A I have to **look** at the computer. I haven't
4 entered one in years. I have the residents **do**
5 it for me. They're so good to me. So I
6 honestly can't tell you what our data set *is*
7 currently because I haven't done it in a while.

8 But, basically, it would involve vital
9 signs, drugs that you typically would use for
10 postpartum woman, whether lactating or not
11 lactating, pain medications, and then perineal
12 care. Okay. That is, the nurse is going to
13 look at that time a couple of times a day, cold
14 compresses for 24 hours followed by a sitz bath
15 if you feel you need it and whatever ancillary
16 drugs you wanted to use for stool softening
17 depending on the patient's history.

18 Q You would utilize some stool softening?

19 A Depends. Some patients take them, some won't.
20 I don't think it's a big deal for either third
21 or fourth degree -- or second or third degrees,
22 whether you use them or you don't. I think a
23 lot of these patients don't take them even if
24 you give it to them. I don't think it makes a
25 difference in outcome whether they do or not.

1 It's a pain situation rather than an outcome
2 situation.

3 Q What about enemas?

4 A Well, I don't use enemas on anybody. I think,
5 it's cruel and unusual punishment.

6 Q Specifically in a patient who's had a repair,
7 would you agree that an enema would be
8 contraindicated?

9 A I think it's contraindicated for fourth degree.
10 I don't think it is for anything else.

11 Q Why it is contraindicated for fourth degree?

12 A Because. you have the mucosal incision line which
13 can be stressed by having an enema. Okay?
14 Again, it depends on how high that mucosal tear
15 goes. When you don't have mucosal tears, I
16 really don't think an enema's going to cause an
17 episiotomy to separate or break down.

18 Q Would an enema increase the likelihood that
19 you're going to disrupt a repair?

20 A Not for anything but a fourth degree, because
21 the sphincter relaxes when you're having a bowel
22 movement, so it has no real effect on the
23 sphincter mechanism.

24 Q What about just placing the enema in the anus,
25 given that you've just repaired a laceration?

1 A There is no laceration in the anus. This is
2 through the vagina, of the sphincter mechanism,
3 and it's nowhere near the anus actually. If you
4 look at it in three dimensions.

5 Q So the placing **of** an enema would not -- your
6 testimony would be that that would not increase
7 the likelihood of the repair failing?

8 A That is my testimony.

9 Q Okay. And that you would see no reason not to
10 order an enema for a patient who has a
11 third-degree repair?

12 A Would order it on a specific need basis, if the
13 patient was really struggling and did not have a
14 bowel movement. As I told you, I do not do this
15 on a routine basis for anybody. I could see
16 that there are situations in which a patient may
17 need an enema for other reasons that aren't, you
18 know, obvious at this point.

19 Q Would you order or recommend ordering an enema
20 in a patient such as the one we're talking
21 about, third degree?

22 A I might. What I don't know was what was her
23 exam at the time of delivery? Is she full of
24 stool. Is it impacted? These are all questions
25 that I don't have the answer to and those all

1 may lead me to request an enema. I don't see it
2 as a contraindication given the fact that she
3 had a third degree. That's all I'm saying.

4 Q Okay. I didn't quite finish my question there.
5 I apologize.

6 **Would** you order **an** enema **as, or** recommend
7 ordering an enema to such a patient as part of a
8 routine order?

9 A As I've stated, I do not do that.

10 Q In this case, have you reviewed the report of
11 Dr. Baggish?

12 A No, I have not. I think we talked about this
13 just before you came, and I did not see his
14 written thing, but I was given sort of a summary
15 statement of his opinions.

16 Q Okay. Do you know Dr. Baggish, by the way?

17 A Not personally.

18 Q Do you know of him?

19 A Yes.

20 Q How is it you know of him?

21 A Well, I know he's chairman at -- which hospital
22 is that in Cincinnati? I have a very good
23 friend at that hospital, Mickey Koraim, and he
24 told me that Dr. Baggish was coming to be his
25 chairman.

1 Q Good Samaritan Hospital.

2 A That's the one.

3 Q Dr. Baggish in his report states that the
4 patient should have been placed on a low residue
5 diet. Do you agree with that?

6 A Whatever that **is**, sure.

7 Q Okay. Stool softeners?

8 A Fine with me.

9 Q No rectals?

10 A I don't know. I think if a rectal is indicated,
11 you do a rectal.

12 Q No enemas?

13 A As I mentioned, I don't think it's a
14 contraindication. If you have a need to do
15 that.

16 Q Closely followed up?

17 A I don't treat them any differently than a second
18 degree. As I mentioned, I don't think these are
19 at any higher risk to have an adverse outcome
20 than a second-degree episiotomy.

21 Q Okay. What kind of orders do you give for the
22 fourth-degree tears?

23 A For those, we're very insistent. Okay? On
24 stool softeners, to make sure that the stool
25 softener -- I usually typically will even give

1 them antibiotic therapy for the fourth degrees,
2 and generally give the patient kinds of
3 precautions. You know, avoid digital rectal
4 examinations. Definitely no enemas. If
5 symptoms change, they're to report in
6 immediately.

7 And what we look for is a worsening pain.
8 We recognize that they hurt, but over time
9 they're supposed to improve. If all of a sudden
10 the pain starts getting worse again, we want to
11 see them immediately for evaluation.

12 Q And the no enemas again, what's the reason for
13 that?

14 A Well, because you now have a row of sutures in
15 the rectal mucosa, and when you put the enema in
16 under those situations, the tip of the enema
17 bag, you can actually disrupt that suture line.
18 But now you have an actual suture line in the
19 rectal mucosa and you have to protect that.
20 That's the primary reason. Now, the other issu
21 is, depending on how far it goes, where the
22 rectum causes force and that may cause the
23 sutures to break.

24 Q But, again, I'm just, because I don't understand
25 the anatomy as well as I should, you don't think

I that an enema poses any kind **of** risk to a
2 third-degree repair?

3 **A** That's my opinion.

4 **Q** And the reason for that, again, and I know that
5 you tried to explain this, but I'm just trying
6 to understand anatomically why that is.

7 **A** Okay. The best way to view this is that the
8 damage is on the opposite side of the rectum.
9 So it's very much away from the rectum is where
10 the fascial separation occurs. **So** you're
11 putting your stitches way away from it on the
12 far side of the sphincter muscle, and so the
13 rectum has really nothing to do with a third
14 degree. You just -- and if you didn't repair
15 it, it probably would be fine too. Okay? So
16 you could probably just not bother putting
17 sutures in and scar tissue would fill in the
18 gap. But, you know, we try to reproximate
19 anatomy, and it's so far away from anything with
20 the rectum, it just has nothing to do with it.

21 **Q** Do you know why -- well, let me ask this. Did
22 Dr. Tamasker's repair fail?

23 **A** Obviously, it failed.

24 **Q** Okay.

25 **A** That's a matter of record.

1 Q Well, I mean, you know that because somebody
2 told you that, I assume?

3 A Correct.

4 Q Because you said you hadn't reviewed any records
5 of the repair.

6 A Well, obviously, the patient felt it fail.
7 Because she underwent voluntarily other
8 procedures to try to get a better fix. Whether
9 this was a cosmetic or a functional situation,
10 from the patient's perspective, something
11 failed.

12 Q And do you know when it failed?

13 A No.

14 Q Do you know why it failed?

15 A I have a reasonable suspicion.

16 Q And what is that?

17 A An infection.

18 Q And why do you have that reasonable suspicion?

19 A Because that's what the majority of these are,
20 is an infection remote from the time that they
21 leave the hospital. That is, typically
22 infections in most tissues occur seven to ten
23 days after the event. And so my belief is,
24 since that's the majority of how these things
25 ultimately fail, is that it's either a low grade

1 or serious infection. Usually we see the
2 serious infections because the patients get
3 pretty sick, but you can get kind of a low grade
4 infection that then causes the sutures to
5 prematurely separate and leaves you a bad
6 outcome.

7 Q Okay. You're saying that just based upon
8 generally --

9 A Statistical probability, yes.

10 Q You're not saying that based upon any specific
11 information about Patty Tippie, correct?

12 A Correct.

13 Q You don't know whether she had any symptoms of
14 low grade or serious infection, correct?

15 A Do not have that information.

16 Q Okay. And so you couldn't really testify with
17 any reasonable degree of medical probability
18 that infection is what caused this failure,
19 correct?

20 A That I can do. Because almost all of these bad
21 outcomes from episiotomy repairs are due to
22 infections, so within reasonable probability,
23 hers was too.

24 Q Well, but, I mean, that's just statistical
25 answer, isn't it? I mean, you really --

1 A That's what we go by is a statistical answer.

2 MR. AUCIELLO: You asked him
3 for a probability.

4 A That's a probability and I've given it to you.

5 Q I know, but wouldn't you want to look at the
6 rest of the records?

7 A I don't have to. 90 percent of the time this is
8 infectious based, whether the records support it
9 or not.

10 Q Well, what is the other 10 percent?

11 A Well, the other 10 percent could be potentially
12 that it wasn't correctly repaired. It could be
13 due to other factors. For example, we see this
14 all the time, the patient has intercourse before
15 they're supposed to. That would be another
16 factor, and a significant percent of the time a
17 patient has premature intercourse.

18 Q What other factors?

19 A Those are the main ones that I'm aware of.

20 Q Okay. So do you know what the repair -- do you
21 know in what manner the repairs failed? I mean,
22 other than the infection, do you know what
23 specifically?

24 A It's very hard for me because the records that I
25 had at the time of her postpartum visit didn't

1 indicate anything significant, so it's hard. I
2 don't know what the -- I don't have any
3 subsequent records from other treating
4 physicians to **look** at exactly **what** the deficit
5 was.

6 Q And do you **know how** many repairs **she** had?

7 A Not off the top of my head.

8 Q If a repair does fail, is it important to treat
9 that failure promptly?

10 A No. I think it's just the opposite.

11 Q What do you mean?

12 A I think you delay and you delay and you delay.

13 Q Why?

14 A The success -- as I read this literature, the
15 success of early repairs is very poor. The
16 success of later repairs is much better.
17 Secondly, I think you can take a significant
18 percentage of patients who feel that their
19 repair isn't right and it will be right by six
20 months. That is, scar tissue will form. It
21 will sort of heal itself. **So** I'm a firm
22 believer in delay. I believe that's what our
23 literature supports.

24 Q And I guess we're talking about re-repairs, as
25 opposed to -- because we talked about the

1 initial repair?

2 A Well, you have your primary repair, which is,
3 here's the episiotomy, I fixed it. So that's
4 your first repair.

5 Q Right.

6 A Anything subsequent, I delay six months.

7 Q And the reason for that delay, again, is?

8 A **So** that enough scar tissue forms, that a lot of
9 those patients don't need anything. They're
10 okay. Not perfect, but okay and functional.
11 All the inflammation surrounding it -- because,
12 as I mentioned, 90 percent of these are
13 infection. That infection has to clear up. If
14 you jump in too soon, you're guaranteed failure
15 because you still have a lot of infection and
16 inflammation in that tissue. So you can't fix
17 it. So if you're going to bother with an early
18 repair, it is very difficult to get that area
19 sterilized.

20 There is some evidence in the literature
21 that you try to use a lot of antiinflammatory
22 agents, use a lot of antibiotics. But their
23 success rate still isn't that good. Still
24 around 50 percent with early repairs and it's 70
25 percent with late repairs. These are tough

1 fixes. This is a very difficult area because
2 it's always contaminated.

3 Q And because it is tough to do, to have
4 successful re-repairs, it's important to give
5 the initial repair every chance to be
6 successful, correct?

7 A I have no problem with that concept.

8 Q Have you read Mrs. Tippie's deposition?

9 A No, I have not.

10 Q What are the signs or symptoms that a repair has
11 failed?

12 A Typically it's pain, or the patient notices it
13 fell apart. And typically for fourth degrees,
14 when we see them in the office, they usually
15 come in, and 10 days or 14 days, it's obviously
16 infected and all the sutures are broken and it's
17 just falling apart. That's typically what we
18 see. The patients come in.

19 For a few patients who kind of simmer with
20 a low grade infection and then their own body
21 clears it or they'll call the doctor and some
22 antibiotic is called in, and we'll see that
23 occasionally. The patient kind of heals herself
24 but then has functional deficits. Either
25 dyspareunia or pain with intercourse because

1 things aren't healed quite right, or they have
2 incontinence of either stool or gas.

3 Q I'm sorry, did you say that's with fourth
4 degree?

5 A That's it. Because I don't see very much with
6 third degrees. And the literature doesn't have
7 a whole lot to say about third degrees.

8 Q Mrs. Tippie has incontinence of stool and
9 flatus. Are you aware of that?

10 A Now I am.

11 Q As of tonight you're aware of it?

12 A Correct.

13 Q Would that indicate to you that she probably had
14 a fourth-degree tear?

15 A It certainly is a reasonable hypothesis. Or the
16 other option you have to address is, did she
17 have those symptoms at her postpartum visit? If
18 she did not, then I would venture to say you
19 have to ask the question, did the subsequent
20 treating physician cause it?

21 Q Well, let's assume that at her postpartum visit
22 she complained of incontinence stool.

23 A Okay.

24 Q Would you agree that she probably had a
25 fourth-degree tear at the time of her, the

1 birth?

2 A That doesn't necessarily follow. I think what
3 you do, if a patient comes in postpartum on her
4 regular visit complaining, you look for
5 rectovaginal fistula is your first diagnostic
6 test. So you have to look for a rectovaginal
7 fistula. If that exists, then your third degree
8 may have had an abscess in it, i.e. an
9 infection, and you rode it into back into the
10 rectum. That can happen. That's a mechanism
11 for getting that with a third-degree or even a
12 second-degree episiotomy. That just happens.
13 It's infectious process that leads to the
14 blowout of the rectal mucosa.

15 Q That would be a fistula?

16 A That would be a fistula. But the patients
17 complain of rectal incontinence because when
18 they have bowel movements, it can come out of
19 the vagina or it can even come out the vagina
20 when the patient is not even having a bowel
21 movement. I can tell you it's not guaranteed
22 that it's due to a missed fourth degree.

23 Q But if no fistula is identified --

24 A So when you do a careful exam and you find that
25 the mucosa's intact and the rectal sphincter is

1 intact, then you have to wonder, is this
2 neurologic injury? And that can be very
3 transient and takes up to six months for the
4 neuron, the pudendal nerve, to regenerate itself
5 to give you continence. And we see this at the
6 other end quite often in terms of bladder
7 incontinence postpartum. It's almost universal.

8 Q What does it mean when the anterior anal muscle
9 is found to be absent in the midline?

10 A That means that the muscle has separated. **So**
11 that that generally means that, to some degree,
12 either the infectious process destroyed the
13 bridge, or it was cut. That is, some part **of**
14 the muscle had been cut.

15 Q And if some part of the muscle is cut, what does
16 that mean?

17 A That means that it's a more advanced third
18 degree. You see, as we're talking, as I
19 mentioned earlier, you know, third-degree
20 episiotomy's somewhat fuzzy in terms of their
21 definition. Because in principal, you can cut
22 half the muscle and it's not a fourth degree.
23 The definition of a fourth degree is that you
24 cut the entire muscle or the entire muscle
25 tears. Half of it tears, some people would

1 label that as still a third degree. But that's
2 now more risk to separate.

3 Q But if the muscle is absent in the midline --

4 A Well, it will become absent because the rest of
5 the fibers will separate and tear over time.
6 Especially if an infection sets in **or if** you
7 have a particular hard bowel movement, it will
8 just go boing and that's it. There's nothing
9 there.

10 Q How long would that take?

11 A It could take months, or it could take a week,
12 just depends on how much strain is in the system
13 and when the infection sets in. And this is
14 what we don't know in this case, is exactly what
15 does a third-degree episiotomy mean?

16 Q Well, again, have you ever seen a patient with a
17 third-degree episiotomy end up with permanent
18 incontinence of stool?

19 A I have not personally seen this, no.

20 Q If Mrs. Tippie has permanent incontinence of
21 stool, would you be more inclined, based upon
22 your statistical knowledge that we've discussed,
23 to believe that she had a fourth-degree tear?

24 A I don't think so.

25 Q Well, what would you attribute this permanent

1 incontinence to?

2 A Attribute it to an infection that went
3 unrecognized by the patient so that she did not
4 present to a doctor and ended up with some
5 degree of loss of separation in the rectal
6 sphincter and/or couple that **with** some
7 neurologic damage at the time of delivery that
8 led to her incontinence.

9 Q Okay. Well, what if we assume she doesn't have
10 any neurologic or pudendal nerve damage?

11 A Okay. Well, then I think an infection led to
12 the complete separation of the rectal sphincter
13 and her dysfunction.

14 Q So she had an infection --

15 A But that can occur whether you have a fourth
16 degree or third degree. Infections occur in
17 this area, be it, thank goodness, at a low rate,
18 but they do occur.

19 Q This would be an infection that, apparently, she
20 didn't know she had; is that right?

21 A Or didn't respond to any signs or symptoms.

22 Q Well --

23 A I mean, if it's a low grade --

24 Q If she testifies that she didn't have any signs
25 or symptoms of infection --

1 A I accept that.

2 Q Okay. So it would have to be a low grade
3 infection, so low that she didn't have any
4 experience any signs or symptoms of it, but
5 virulent enough to destroy **this** repair?

6 A Correct.

7 Q And cause a complete separation?

8 A Cause a separation of the muscle, yes.

9 Q Have you ever read of any such thing happening?

10 A Don't recall.

11 Q Ever heard of any such thing happening?

12 A It happened to me.

13 Q What's happened to you?

14 A I've had patients who have no -- not exactly
15 this scenario. But I've had patients who have
16 had second or third degrees who when they come
17 back have no anterior rectal sphincter. I mean,
18 they're still continent, okay, because -- 'the
19 majority of these patients are still continent
20 because the scar tissue forms an adequate bridge
21 to give them closure.

22 And a few patients -- and, again, this is
23 why I bring up the neurologic issue, I'm very
24 suspicious. That even if the anterior segment
25 is missing, there's enough scar tissue there to

1 give adequate closure for continence. But if
2 your neurons aren't working well, then you're
3 not going to get enough force generated to get
4 good closure.

5 So this happens, I think, fairly
6 routinely. I mean, I see hundreds of pregnant
7 women over the years, some which I've delivered,
8 some of which other obstetricians delivered, and
9 quite honestly, you'd be amazed as to how much
10 sphincter deficit you see that are asymptomatic,
11 and I don't view that as particularly anything
12 interesting, that observation.

13 Q Sphincter deficit meaning --

14 A Meaning there is an anterior gap. So you do a
15 rectal exam, there's no sphincter there. That's
16 what that means. It's very, very common. It's
17 just most women don't have any symptoms related
18 to it because the system works. Even if it's
19 three-quarters.

20 Q All right. So in this case, are you aware of
21 what Dr. Tamasker ordered postpartum for Mrs.
22 Tippie?

23 A Roughly, I remember seeing the post-op check-off
24 box order sheet.

25 Q Okay. Are you aware that Dr. Tamasker says that

1 she does not order enemas for patients like Mrs.
2 Tippie?

3 A As I said, I have not read her deposition, so I
4 don't know.

5 Q Well, in this particular case, **Dr.** Tamasker, we
6 know based upon the records, did order enemas
7 for Mrs. Tippie. You're aware of that now,
8 right?

9 A Correct.

10 Q And you don't find that to be below the standard
11 of care, correct?

12 A I do not

13 Q Not something that you would **do**, but you don't
14 find it to be below the standard of care,
15 correct?

16 A That's correct.

17 Q And this patient, do you see any reason to have
18 ordered an enema?

19 A There's none documented.

20 Q There's nothing on the records that indicates to
21 you a need for an enema for Mrs. Tippie,
22 correct?

23 A Correct.

24 Q Let me just ask this: Any indication in any
25 record you've seen that Mrs. Tippie did have an

1 infection at the episiotomy site?

2 A No.

3 Q Did you read the obstetric discharge summary?

4 A I probably did.

5 Q You have the obstetric discharge summary in
6 front of you?

7 A Yes, obstetric discharge summary.

8 Q And do you see where it says, complications,
9 operative and postpartum?

10 A Yes. It says "none."

11 Q And under that section there's boxes for what
12 degree of perineal laceration and vaginal and
13 cervical laceration?

14 A Yes.

15 Q And "none" are marked?

16 A It's not filled in, correct.

17 Q That would be inconsistent with the other parts
18 of the record?

19 A Not necessarily because it may reflect how you
20 interpret these words. To most of us, a
21 laceration is an incidental thing when you
22 didn't do something. In other words, something
23 tore as part of a mechanical act. And she may
24 be viewing the interpretation here as, well, I
25 cut a third-degree episiotomy, and therefore,

1 there was no laceration.

2 Q Well, did you read the labor and delivery
3 summary?

4 A Probably did.

5 Q And --

6 A I mean, I don't **know**. **You'd have to ask** Dr.
7 Tamasker. I'm just hypothesizing. I interpret
8 these forms, when I cut an episiotomy, I cut a
9 second-degree episiotomy, or a third-degree
10 episiotomy. If --

11 Q Intentionally?

12 A Intentionally. If that's what I did, then
13 there's no laceration. Okay. Because a
14 laceration has a different meaning in the
15 English language to me.

16 Q Okay.

17 A **Now**, the problem is that people get this all
18 mixed up and muddled up. They call one the
19 other, the one this. I just try to keep it very
20 precise and keep those words separated.
21 "Laceration" is something that happens
22 inadvertently.

23 Q Okay.

24 A But I don't know. You'd have to ask her how she
25 views that.

1 Q And labor and delivery summary, she has X'd out
2 third-degree perineal laceration. Are you aware
3 of that?

4 A Yes.

5 Q That's not on the page in front of you?

6 A Right. I know.

7 Q So, apparently, at least at some point in the
8 record she had indicated third-degree
9 laceration, correct?

10 A Yes.

11 Q I want to clarify something I'd asked before.
12 You said that you had not read anything in the
13 literature about a case of a woman having an
14 infection that did not produce any signs and
15 symptoms yet went on to break down the repair of
16 the episiotomy. Is that what you said? You had
17 not read any literature --

18 A No. What I think I said was that I'm unaware of
19 any literature on third-degree episiotomies
20 breaking down.

21 Q You're just unaware of any at all?

22 A I just have not read anything in the literature
23 about third-degree episiotomies. In other
24 words, there is fair literature on fourth-degree
25 episiotomy. If you go into, type in

1 "fourth-degree episiotomy," you get a literature
2 on it. If you type in "third degree," you get
3 nothing. If you type in "episiotomies," and
4 "episiotomy complications," you get literature.
5 That then separates, gives you a big general
6 picture **of** episiotomy **problems** but doesn't
7 discriminate between first, second, and third
8 degree, but it will discriminate into fourth
9 degree.

10 Q And let me just be clear then.

11 A Okay.

12 Q Because later on you said something about
13 patients that you had, but let me ask you
14 specifically, have you ever had a patient with a
15 third-degree laceration and the repair failed?

16 A I have not had a patient -- I mean, this is very
17 difficult to answer.

18 Q Right.

19 A Because it depends what you mean by "failure."
20 And you have to be very, very specific. If by
21 failure you mean incontinence of stool or gas, I
22 have had no such thing. If you mean failure
23 that anatomically there are defects, then I've
24 had that.

25 Q Okay. And in those cases in which you've had

1 anatomical defects, you attribute that to
2 infection?

3 A I think a low grade infection is what does the
4 significant majority of those defects, or did a
5 lousy repair. Those are the only two choices
6 you really have.

7 Q Or the premature intercourse you mentioned?

8 A Well, that's a possibility certainly.

9 Q Well, do you know why Mrs. Tippie, if she had a
10 third-degree episiotomy or laceration and does
11 not have pudendal nerve damage, can you explain
12 why she would end up with a permanent
13 incontinence?

14 A Well, I think there are a lot of factors as I
15 mentioned earlier. I think the number one is
16 she did have an infection. In her particular
17 case, it led to incontinence. Now, I would
18 venture to say I'm very suspicious that I think
19 she probably also had a transient pudendal nerve
20 palsy, which may not be a chronic thing. If you
21 just wait, it will go away.

22 Q What do you mean wait and it will go away?

23 A Well, as I mentioned to you before, most of
24 these are transient and very temporary and it
25 will clear up. That is, the nerve palsy will

1 clear up in six months. **So** their incontinence
2 will go away in six months.

3 Q Well, that didn't happen.

4 A I don't know that because I'm not aware **of** those
5 records.

6 Q Okay. Well, I'm just telling you that she is
7 incontinent. It's now --

8 A Yes. But I'm going to ask you then, did she
9 have pudendal nerve testing prior to her first
10 attempted repair?

11 Q Not to my knowledge.

12 A Okay. I rest my case.

13 Q What does that mean?

14 A That means if she did have at that point a
15 pudendal nerve palsy, surgery was guaranteed to
16 fail.

17 Q So are you critical of the doctor performing the
18 surgery?

19 A I have no idea since I was not asked to review
20 those records and I did not review those
21 records. So I can't be critical at this point.

22 Q So let's see what you're going to say here next
23 week. You would say that based -- that you've
24 reviewed the obstetrical records relating to the
25 birth of Mrs. Tippie's first child, right?

1 A Correct.

2 Q And no other records?

3 A Correct.

4 Q And that based upon **those** records, **you know**
5 that, or it appears that there was a
6 third-degree either laceration or episiotomy,
7 you're not sure which, using your terminology,
8 correct?

9 A Correct.

10 Q And a primary repair?

11 A Yes.

12 Q And that Dr. Tamasker gave some postoperative
13 orders, postpartum orders, that included an
14 order for an enema, and you don't think that the
15 ordering of an enema under those circumstances
16 was below the standard of care, correct?

17 A Yes.

18 MR. AUCIELLO: Yes meaning you
19 don't believe that it's --

20 A Yes, I believe that it's correct.

21 Q Okay.

22 MR. AUCIELLO: It is not a
23 violation of the standard of care?

24 A It is not a violation of the standard of care.

25 Q That will be your answer. Okay. I understand.

1 And that although you don't see any
2 indication in the records for doing an enema
3 either, correct?

4 A Correct.

5 Q And you, yourself, based upon the records, would
6 not have ordered an enema, correct?

7 A Correct.

8 Q And then you believe, based upon statistics,
9 that Mrs. Tippie -- well, because you've been
10 told that her repair failed, although you
11 don't -- you haven't reviewed any documents or
12 records to tell you that it had failed, because
13 you've been told that it failed, your conclusion
14 would be, based upon statistics, that there must
15 have been some infection?

16 A Correct.

17 Q And that's based merely upon the fact that in
18 the majority of cases infection is what causes a
19 repair to fail?

20 MR. AUCIELLO: Forgetting the
21 word "merely."

22 Q And I apologize for going over this, but I
23 really didn't expect it to be part of your
24 testimony.

25 So I just want to be clear. You've never

1 examined Mrs. Tippie, correct?

2 A I have never examined Mrs. Tippie.

3 Q Did you ask to examine her?

4 A I did not.

5 Q And you don't know of any records that would
6 indicate that she had **an** infection of any kind,
7 correct?

8 A Correct.

9 Q There are other possibilities for the repair to
10 have failed and you're excluding those
11 statistically, I guess?

12 A Yes. And there's lack of evidence for that, for
13 those hypotheses. So intercourse, you know, I
14 don't know. That's not documented anywhere in
15 the records, but, you know, we can ask.

16 Q All right.

17 A If it's a missed diagnosis fourth degree, that's
18 usually evident very quickly after the
19 episiotomy was badly repaired, and you'll know
20 about that with the first bowel movement. So
21 since that didn't occur, we have to exclude
22 that.

23 Q What would you know with the first bowel
24 movement?

25 A Well, they're going to have it -- either the

1 whole thing breaks apart because the stool's
2 coming out of all the breaks and openings that
3 you didn't fix -- and especially if you gave
4 them an enema. You couldn't **miss** that one after
5 an enema.

6 Q Okay. And I take it you're not going **to** be
7 offering any testimony about Mrs. Tippie's
8 current condition, correct?

9 A Correct.

10 Q You're not going to be offering any testimony
11 about whether that condition is permanent,
12 correct?

13 A Correct.

14 Q Back to your report here, and I take it this is
15 the only report you've ever produced, correct?

16 A Correct.

17 Q When we were talking about that sentence where
18 you said the incontinence may be then due to the
19 magnitude of the separation, what do you mean by
20 that?

21 A That anterior portion of the sphincter that
22 wasn't there on that examination, okay, how big
23 is it before you get incontinence?

24 Q You mean how big the separation between the two
25 ends of the muscle is?

1 A Yes, a circle.

2 Q Right.

3 A And how much of the pie is missing. The more
4 you're missing, the more likely it **is** going to
5 be dysfunctional as a sphincter. Because it
6 loses mechanical advantage the bigger that
7 separation is.

8 Q So technically a third-degree tear or episiotomy
9 can be right to the very edge of that muscle,
10 correct?

11 A Correct.

12 Q Leaving only a tiny, tiny part?

13 A Bit intact.

14 Q Part of the muscle intact?

15 A Correct.

16 Q And you'd still call that a third degree?

17 A You'd still call that a third degree.

18 Q And would you still treat that kind of patient
19 who had that kind of a third-degree tear the
20 same as a second degree, or would you treat them
21 more like a fourth degree?

22 A Well, there's no substantive information for me
23 to make a scientific judgment on that, so I tend
24 to treat all third -- if I don't have a tear of
25 the mucosa, I treat them all the same,

1 personally. But there's no information on this.
2 This is a very subjective thing and nobody has
3 written a paper saying, well, if I cut one-third
4 of the muscle, two-thirds of the muscle, 90
5 percent of the muscle, what are the different
6 outcomes? No such thing, .

7 Q So you don't treat --

8 A I do the best repair that I possibly can for the
9 system, and as long as the rectal mucosa isn't
10 cut or torn, I don't treat those any
11 differently. If the rectal mucosa is cut, then
12 absolutely that becomes an automatic fourth
13 degree and I treat them differently. They're
14 high risk.

15 Q And you say, it certainly seems reasonable in a
16 patient who presents with rectal sphincter
17 incontinence to attempt a repair, especially if
18 no clinical evidence for neuropathy exists.
19 Neuropathy, you're referring to the pudendal
20 nerve damage again?

21 A Yes.

22 Q And you mentioned before some possibility or
23 consideration that the subsequent physician,
24 treating physician, caused some type of damage
25 to the patient; is that right?

1 A It's always a possibility. I don't know if they
2 did or not. I have not reviewed those records.
3 I have not looked at that. I have no opinion at
4 this point. But every time you do a surgical
5 procedure, you cause more harm than good. You
6 can.

7 Q You can?

8 A You can cause more harm than good. You can.

9 Q Just give me a minute, Doctor.

10 If at the time of the six-week checkup
11 Mrs. Tippie had been having incontinence of gas
12 and stool at that point, you would have done
13 nothing; is that correct?

14 A Correct. I would have discussed the situation
15 with her extensively. I might have ordered some
16 sort of testing of the nerves. Might have.
17 But, generally, I don't at that point. I
18 encourage my patients at this point to just
19 wait. Time does wonders. And then re-see them
20 on a monthly basis to see how they're coming
21 along. That's what I do.

22 Q And if she continued to have --

23 A If she continued to have symptoms at the time
24 that about six months has transpired, I would
25 certainly before entertaining doing a repair, I

1 would check on the nerves. **If** the nerves are
2 fine, I would go ahead and do it myself.

3 Q Do you do that kind of surgery?

4 A Yes, I do.

5 Q Sphincter plasty, is that what that would be
6 called?

7 A That's a technically okay word.

8 Q All right.

9 A I mean, you know, some physicians prefer a
10 colorectal surgeon does it. It really doesn't
11 matter. I think it's just somebody who's
12 experienced and skilled at doing this, it's
13 reconstruction. This is kind of a branch of
14 that.

15 Q Right. Do you know Dr. Priebe?

16 A No, I don't.

17 Q Do you know Dr. Strong?

18 A No, I don't.

19 Q Your report indicates that this seems to be an
20 unfortunate consequence of childbirth, **as**
21 opposed to any negligence on Dr. Tamasker's
22 part. Do you recall that last sentence of your
23 report?

24 A Yes.

25 Q What do you mean as consequence of childbirth?

1 A Well, there are risks to having a child. The
2 mother can die., She can bleed significantly
3 requiring transfusion. She can get an
4 infection. And generally risks that we don't
5 talk about much are risk **of** incontinence of both
6 stool and urine, significant tearing and
7 laceration of the pelvis and perineum, fistula
8 formation. These are all risks of childbirth
9 that, in many instances, are independent of
10 obstetrical management.

11 We have tried to tighten up our
12 obstetrical management to limit these. We do
13 C-sections if the baby's too big. We do
14 C-sections if the labor isn't going just right,
15 and that minimizes some of these so that we
16 don't hear much about these. Fifty years ago
17 that stuff was rampant because we didn't know
18 how to manage them any better. We couldn't do
19 C-sections safely. So now we have a 25 percent
20 C-section rate to get rid of the majority of
21 this type of problem. But it's a risk of having
22 a kid. And that doesn't mean the doctor did
23 anything bad.

24 Q Fifty years ago the --

25 A Fifty years ago the Cesarean section rate in the

1 United States was 3 percent.

2 Q And women developing incontinence of stool after
3 childbirth was rampant?

4 A It was very common, yes. Stool and urine.
5 Because we delivered big babies. You deliver a
6 ten-pound baby through an orifice that doesn't
7 accept it, you're going to rip all of these.
8 You're going to have long labors with prolonged
9 pushing that led to neuropathies.

10 Q Well, what were the percentages back --

11 A I don't know. I didn't practice obstetrics.
12 This is just historical reading and talking to
13 the old-time guys.

14 Q I was just asking you because you have the
15 percentages for the Cesarean rate, I thought
16 you --

17 A It's very common. It's just the historical
18 stories, if you will. Reading the older
19 literature, which I don't review in particular
20 steady rate. But this was a very common
21 problem, related to the fact that we didn't do
22 C-sections with such low thresholds.

23 Q How do you know Dr. Tamasker did an adequate
24 repair?

25 A I don't know. The record indicates at the time

1 of discharge the -- or the nurse, the visiting
2 nurse visit, the episiotomy appeared intact.
3 And given the fact --

4 Q Had she had a bowel movement **by** then?

5 A I don't know. I don't recall whether that was
6 documented.

7 Q Okay. Doctor, that's all the questions I have
8 for you. Have we covered the opinions that
9 you're prepared to offer in this case?

10 MR. AUCIELLO: I think you
11 have.

12 A I think we have.

13 Q Okay.

14

15

16

17

18

19

20

21

22

23

24

25

THE STATE OF OHIO,)
COUNTY OF CUYAHOGA.)

SS:


CERTIFICATE

I, Tracy L. Barker, a Notary Public within and for the State of Ohio, duly commissioned **and** qualified, do hereby certify that the within-named witness, **Laszlo** Sogor, M.D., Ph.D., was **first** duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed on a computer/printer, and that the foregoing is a true and correct transcript of the testimony so given by him, as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 26th day of August 1999.


Tracy L. Barker, Notary Public
within and for the State of Ohio
My Commission expires May 23, 2000.

[illegible]

CURRICULUM VITAE

NAME: Laszlo Sogor, M.D., Ph.D.

ADDRESS:

HOME . 7792 Oakhurst Circle
Brecksville, Ohio 44141

WORK University Hospitals of Cleveland
Department of Ob-Gyn
11100 Euclid Avenue
Cleveland, Ohio 44106
Telephone: 216/844-1692

EDUCATION:

COLLEGE: Case Institute of Technology, B.S., Chemistry,
1966

GRADUATE: Case Western Reserve University, Ph.D.,
Physical Chemistry, 1970

POST DOCTORAL FELLOWSHIP: Iowa State University
Department of Chemistry, Ames, Iowa
Surface Chemistry, 1971

MEDICINE: Case Western Reserve University, M.D.,
Medicine, 1978, Cleveland, Ohio


RESIDENCY: University Hospitals/Cleveland Metropolitan
General Hospital Combined OB-GYN, 1978-1982,
Cleveland, Ohio

PROFESSIONAL EXPERIENCE:

Present Associate Professor of Reproductive Biology,
Case Western Reserve University, Cleveland

Chief, Division of Gynecology
University/MacDonald Womens Hospital

Director, Ob/Gyn Residency Program



- Chief of Gynecology
Cleveland Veterans Administration Medical
Center
- 1987-1989 Chief of General Ob/Gyn, University MacDonald
Womens Hospital
- 1984-1989 Director, Andrology Laboratory, University
MacDonald Womens Hospital and member division
of endocrinology and infertility
- 1982-1983 Clinical Instructor, Reproductive Biology
Case Western Reserve University
- Private Practice, Obstetrics and Gynecology
- 1981-1982 Executive Chief Resident, Cleveland
Metropolitan General Hospital/University
Hospital combined Obstetrics and Gynecology
Residency (MacDonald Hospital for Women,
Cleveland)
- 1979-1981 Resident Physician, Cleveland
Metropolitan General Hospital/University
Hospital combined Obstetrics and Gynecology
Program, Cleveland
- 1978-1979 Intern, Cleveland Metropolitan General
Hospital, Cleveland
- 1974-1978 Medical Student, Case Western Reserve
University School of Medicine
- Research Associate, Department of
Macromolecular Science, CWRU, Cleveland
- 1971-1974 Senior Research Chemist, Proctor & Gamble
Company, Miami Valley Laboratories,
Cincinnati
- 1970-1971 Post doctoral fellow, Iowa State
University, Department of Chemistry and
The Ames Laboratory, USAEC
- 1966-1970 National Science Foundation Graduate
Trainee, Department of Chemistry and

Laszlo Sogor, M.D., Ph.D.
Curriculum Vitae

3

Macromolecular Science, Case Western
Reserve University, Cleveland

HONORS :

Veasy Prize for most outstanding Physical Chemistry
Student at Case Institute of Technology, 1966.

First Place, Resident Day research paper: Hormonal
Effects on the Water Permeability of the
Chorioamnion, MacDonald Hospital, Department of
Ob/Gyn, 1982.

Full Time Faculty Teaching Award, 1992.

CREOG Teaching Award, 1996

LICENSURE AND BOARD STATUS:

OHIO (Since 1980)

OB-GYN Board Certified, 1984.

HOSPITAL DEPARTMENT - 1980 -

Former Chairman, Quality Assurance Committee (1985-1988)
Departmental Education Committee
Appointments, Promotion and Tenure Committee
Departmental Management Committee
Professional Advisory Committee

MEDICAL SCHOOL COMMITTEES

Faculty Council

SOCIETIES

Fellow, American College of Ob/Gyn
American Fertility Society
Association of Professors of Ob/Gyn
American Association of Gyn Laparoscopists
Association of Reproductive Health Professionals
American Society of Colposcopy and Cervical Pathology

PUBLICATIONS

- Sogor L, Ph.D.: Thesis: Prenucleation Studies of Molecular Clustering on High Energy Surfaces Using Absorption Techniques, Office of Saline Water, 1971.
- Sogor L, Walton **AG**, Hauser E: Two Dimensional Nucleation of Inorganic Crystals, J. Phys. Chem., **45**, 1071, 1966.
- Sogor L, Walton AG: Mechanism of Heterogenous Nucleation, Surface Science, **25**, 237, 1971.
- Sogor L, Hansen R: Surface Tension of Binary Solutions of Non-Electrolytes, J. Colloid and Interface Science, **40**, 424, 1972.
- Sogor L: Immunologic Infertility, Ch. 54 in Gynecology and Obstetrics, Sciarra, JJ Ed. 5, Endocrinology, Infertility and Genetics, 1986.
- Sogor L, Hammill H: Infectious Etiology for Male Infertility, Chapter 5 in Speroff L, Ed. Seminars in Reproductive Endocrinology, Oct., 1988.
- Sogor L: Guest Editor, Seminars in Reproductive Endocrinology, (L. Speroff, Ed), Pathophysiology of Male Infertility. Oct, 1988.
- Sogor L, Meagher R, Amsden A, Hunt J, Soares M, Smith RN: Placental CSF-Like Activity. Exp Hematol 18:448-451, 1990.
- Sogor, L: Suburethral Diverticulum. Chapter in Practical Urogynecology. Mosby Year Book, Inc., St. Louis, Mo. MD Walters and MM Karram, Eds., April 1993.
- Sogor, L: Endometriosis in the Perimenopause. Accepted for publication, Menopause Management (W. Utian Ed.)
- Sultana C, Sogor L: Laparoscopy vs Laparotomy for Gynecologic Procedures. Impact on Resident Training. J Repro Med 41:225-230, 1996.

Sogor, L: Adenomyosis and Endometriosis. Ob/Gyn Pearls of Wisdom Board Review Text. Accepted for publication. Boston Medical Publishing Inc.

ABSTRACTS

Sogor L, Cooper E: Flux and Lag Time Effects in Composite Membranes. American Chemical Society, Annual Meeting, April, 1974.

Sogor L: Diffusional Characterization of Poly-alpha-amino Acid Membranes: Methods and Techniques. American Chemical Society, Annual Meeting, August, 1976.

Sogor L: Hormonal Effects of Water Diffusion in the Chorionamnion: Society of Gynecologic Investigation, 32nd Annual Meeting March, 1984.

Sogor L, Hammill H, Malcolm A, Glavan D, Fisher, M: Velocity Measurement of Mobiluncus in Pure Culture. Infectious Disease Society for Obstetrics and Gynecology, Annual Meeting, 1985.

Sogor L, Carey S, Glavan D: The Accuracy of Reading Penetrations in the Zona-Free Hamster Egg Test. American Society of Andrology, April, 1986

Sogor L, Hammill H, Glavan D, Colter A: Predictive Value of Quantitative Bacteriologic Studies in Zona-Free Hamster Egg Test Failure, American Society of Andrology, Annual Meeting, March, 1987.

Sogor L: Efficacy of Selective Therapy for Cervical Chlamydial Infection in Women Undergoing Elective Abortions, National Abortion Federation, Annual Meeting, May, 1988.

Sultana CJ, Sogor L: The impact on resident teaching of laparoscopy vs laparotomy for gynecology procedures. Presented at the APOG annual meeting, Nashville TN, March 1994.

Janicki TI, Loret de Mola JR, 'Sogor L: Factors Influencing Eye-Hand Coordination in Video laparoscopy. Presented at the AAGL Annual Meeting, Oct. 1994.

SEMINARS

Butch Leydig and the Sertoli Kid - The Physiology of Spermatogenesis. MacDonald Hospital Staff Conference April, 1984.

New Assessments of Male Infertility:
Second Annual MacDonald Hospital Conference on Reproductive Endocrinology, May 1985.

Pregnancy Loss and Immunology:
Second Annual Cleveland Conference on Perinatal Medicine, Oct., 1985.

Teenage Pregnancy - An Epidemic in the U.S.?
Cleveland Area Community Health Grand Rounds, Feb., 1985.

RU-486
Ohio Family Planning Association, Feb. 1986.

The CO₂ Laser in Obstetrics and Gynecology
Regional Program in Continuing Medical Education,
(Ongoing lecture at various regional community hospitals)

Water, Water everywhere - Origin and Dynamics of Amniotic Fluid, Grand Rounds, Department of Ob/Gyn, MacDonald Hospitals Nov., 1985 and at Buffalo General Hospital Grand Rounds, March, 1987.

Current Management and Diagnosis of Ectopic Pregnancy.
Warren Community Hospital Grand Rounds, Oct. 1988.

Spermatozoa: Origin and Function
Lecture for first year medical students during Reproductive Biology portion of Core Curriculum. (1985-1988).

Pelvic Inflammatory Disease
Reproductive Biology Core Curriculum
(1988-1990)

Sexually Transmitted Diseases
Reproductive Biology Core Curriculum
(1988-1990)

Historical Perspective on Hysterectomy. Canton General
Hospital, Ob/Gyn Grand Rounds, Oct. 1989.

Human Papilloma Virus Infections
Annual Review Course in Ob/Gyn
University MacDonald Womens Hospital
May, 1988, and to the Buffalo Ob/Gyn Society,
March, 1989.

Influence of Common Gynecologic Problems on the Urinary
Tract. Northeast Ohio Regional Conference on Female
Urology, June, 1989.

Pain: The Pharmacology of analgesics, National Science
Foundation Curriculum for High School Science Teacher.
Notre Dame College, August, 1989, 1990.

Endocrine Aspects of Male Infertility: Hypogonadotropic
Hypogonadism. Fairview General Hospital Medical
Grand Rounds, April, 1990.

Contraception for Adolescents. University Hospitals of
Cleveland. Pediatrics Grand Rounds, June, 1990.

Operative Laparoscopy. St. Luke's Hospital, Department
of Obstetrics and Gynecology. October, 1990.

Endometriosis. Grand Rounds. University Hospitals of
Cleveland. Department of Ob/Gyn Staff Conference.
October, 1990.

Infectious Diseases in Ob/Gyn. Southwest General Hospital.
November 21, 1990.

Endometriosis, "The Surgical Approach". The Cleveland Ob/Gyn
Society. January 16, 1991.

Contraception in the 90's. Meridia Euclid Hospital.
March 7, 1991.

Contraceptive Update. St. Elizabeth's Hospital.
May 9, 1991.

Sexually Transmitted Diseases and PID. St. Elizabeth's
Hospital. June 13, 1991

General Overview of Laser Surgery. Fisher Titus Hospital.
October 15, 1991

Contraceptive Update. Metro-St. Lukes Hospital.
December 3, 1991

Contraceptive Update. St. Elizabeth's Hospital. May 7, 1992

Pain Management for Endometriosis. Obstetrics & Gynecology
Update 1992. Cleveland, Ohio, June 5, 1992

Contraception in the 90's. Lakewood Hospital. October 14,
1992

Contraceptive Update. Akron General Medical Center.
December 7, 1992

Sex Education for Parents. Hathaway Brown School. January
14, 1993

LEEP Procedures. MetroHealth St. Lukes Medical Center.
January 19, 1993

A Brief History of the Hysterectomy. Grand Rounds. Good
Samaritan Hospital, Cincinnati, Oh. March 11, 1993

Chronic Pelvic Pain. Barberton General Hospital. April 21,
1993

Sexually Transmitted Diseases. Hathaway Brown School. April
24, 1993

Menopause. Parma Community General Hospital. April 29, 1993

Update on Norplant. Akron City Hospital. May 7, 1993

Complications of Laparoscopic Surgery. Barberton Citizens
Hospital. September 15, 1993

Menopause; Mild, Moderate, Madness! Geauga Hospital.
September 29, 1993

Intrauterine Devices: Resolution of Some Conflicts.
Grand Rounds. University MacDonald Womens Hospital.
November 10, 1993

HPV, Retinoids and Neoplasia. Grand Rounds. University
MacDonald Womens Hospital. January 12, 1994

Current Concepts of Chronic Pelvic Pain in Young Women. Great
Lakes Regional Planned Parenthood Medical Day,
Cleveland, Ohio. April 9, 1994

Current Concepts of Chronic Pelvic Pain Syndromes. University
of Nebraska, Dept. of Ob-Gyn. April 20, 1994

Bacterial Vaginosis & STD Update. Akron City Hospital. April
29, 1994

Endometriosis Update. Fairview General Hospital Grand Rounds.
May 3, 1994

Complications of Laparoscopic Surgery. Trumbull Memorial
Hospital Grand Rounds. May 26, 1994

Laparoscopic Adnexal Surgery. International College of
Surgeons Annual Meeting, Cleveland, Ohio. June 11,
1994.

Contraceptive Update. St Elizabeth Memorial Hospital,
Youngstown, Ohio. April 13, 1995.

Diagnosis and Management of Cervical Abnormalities. Specialty
Medicine Today, University Hospitals of Cleveland, May
12, 1995.

HPV, Retinoids and Neoplasia. Cleveland Clinic Foundation .
Grand Rounds. October 30, 1995.

Laser, Leep, Colposcopy. Barberton Citizens Hospital. Grand
Rounds. February 6, 1996.

Exercise and Weight Loss: A Thermodynamicist's View. Grand
Rounds. University Hospitals of Cleveland. May 8, 1996.

Bacterial Vaginosis. Alleghany Hospital, Pittsburgh, PA.
Grand Rounds. Sept. 27, 1996

Gynecological Anatomy. Presented to the Solvay
Pharmaceutical Company Education Course. University
Hospitals of Cleveland. November 19, 1996

Laszlo Sogor, M.D., Ph.D.
Curriculum Vitae

10

Endometriosis. Presented to the Solvay Pharmaceutical
Company Education Course. University Hospitals of
Cleveland. November 19, 1996

Urinary Incontinence/Pelvic Relaxation. Presented to the
Solvay Pharmaceutical Company Education Course.
University **Hospitals of** Cleveland. November **21, 1996**

rev 11/96